

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 State of Ohio,)
2 County of Lorain.) SS:

IN THE COURT OF COMMON PLEAS

6 Sandra Johnson,)
7 Adminstratrix, et al.,)
8 Plaintiffs,

vs.

) No. 98 CV 122198

9 Akbar Naeem, M.D., et al.,)
10 Defendants.)
11

12 DEPOSITION OF JONATHAN GLAUSER, MODI
13 Tuesday, August 8, 2000

14
15
16 Deposition of JONATHAN GLAUSER, M.D., a
17 witness herein, called on behalf of the plaintiffs
18 for oral examination, pursuant to the Ohio Rules of
19 Civil Procedure, taken before Judi Sadler,
20 Registered Professional Reporter and Notary Public
21 in and for the State of Ohio, pursuant to notice at
22 the offices of Mazanec, Raskin & Ryder, 100
23 Franklin's Row, 34305 Solon Road, Cleveland, Ohio,
24 on Tuesday, August 8, 2000, commencing at 5:20 p.m.
25

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

I N D E X

Witness: Cross

JONATHAN GLAUSER, M.D.

By Mr. Dempsey 4

E X H I B I T S

Plaintiffs': Marked

1 Notes made after review of 71
Dr. Zivot's deposition

2 Notes made after review of 71
Sandra Johnson's deposition

3 Notes made after review of 71
medical records

4 Notes made after review of 71
Dr. Naeem's deposition

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

APPEARANCES:

On behalf of the Plaintiffs:

Richard Dempsey, Esq.
Nurenberg, Plevin, Heller & McCarthy
1000 Standard Building
1370 Ontario Street
Cleveland, Ohio 44113
(216) 621-2300

On behalf of Defendant Elyria Memorial Hospital:

Leslie Spisak, Esq.
Reminger & Reminger Co., L.P.A.
The 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311

On behalf of Defendants Dr. Marion R. Prince and
Dr. Carroll Marion:

Colleen Healy Petrello, Esq.
Mazanec, Raskin & Ryder Co., L.P.A.
100 Franklin's Row
34305 Solon Road
Cleveland, Ohio 44139
(440) 248-7906

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 JONATHAN GLAUSER, M.D.

2 of lawful age, being first duly sworn, as
3 hereinafter certified, was examined and testified
4 as follows:

5 CROSS-EXAMINATION

6 By Mr. Dempsey:

7 Q. Doctor, I'm Richard Dempsey, I represent Sandra
8 Johnson who is the administratrix of the estate of
9 her late husband, Moses Johnson.
10 I'm going to take your deposition today.
11 I'll try not to be too long. Mr. Spisak may have
12 some questions for you, as well, on behalf of
13 Elyria Memorial Hospital. If you don't understand
14 a question that I ask you or he asks you, will you
15 ask us to repeat it?

16 A. Yes.

17 Q. Repeat or rephrase, whatever you're comfortable
18 with. I take it you've had your deposition taken
19 before?

20 A. Yes.

21 Q. Okay. So you're aware that the court reporter is
22 taking down the questions and the responses?

23 A. Yes.

24 Q. And as you've done thus far, please continue to
25 give your answers out loud so the court reporter

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 can take everything down. Fair enough?

2 A. Yes.

3 Q. Okay, great. Where do you live?

4 A. I live in Shaker Heights.

5 Q. Where? What's your address?

6 A. 22790 South Woodland, Shaker Heights, Ohio 44122.

7 Q. Where were you born?

8 A. Philadelphia, Pennsylvania.

9 Q. When did you come to the Cleveland area?

10 A. I came to the Cleveland area in 1979 after my
11 residency in emergency medicine, which I did in
12 Minneapolis, Minnesota.

13 Q. Wait. What year did you come to Cleveland?

14 A. 1979.

15 Q. '79. And have you practiced here?

16 A. Yes.

17 Q. Are you licensed in Ohio?

18 A. Yes.

19 Q. When did you get your license to practice medicine?

20 A. It must have been around May of 1979.

21 Q. Board certified?

22 A. Yes.

23 Q. In?

24 A. Emergency medicine.

25 Q. Are you with a group?

LEONARD GAVLEN & ASSOCIATES, COURT

1 A. Yes.

2 Q. What's the name of your group?

3 A. Oh, actually, I don't know the name.

4 by the Cleveland Clinic Foundation and ...

5 emergency department staffs, both MetroHealth

6 Medical Center and the Cleveland Clinic.

7 Q. Where do you practice or do you go to different

8 locations as they send you?

9 A. Mainly to Cleveland Clinic and I attend at Metro,
10 as well,

11 Q. Now, the Cleveland Clinic's emergency department,

12 is it on the -- where is it, on the south side of
13 the street or the north side of the street?

14 There's a Kaiser emergency department, isn't there?

15 A. We share the same building.

16 Q. Clinic and Kaiser share that building on the --

17 A. Carnegie. The south side of Carnegie between 89th
18 and 93rd.

19 MR. DEMPSEY: Just off the record.

20 (Discussion off the record.)

21 MR. DEMPSEY: Okay. Back on the
22 record,

22 Q. Now, you've been with the Clinic how long?

24 A. Approximately a year and a half.

25 Q. Okay. What's your prior experience to that? What

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 did you do?

2 A. I've been full time in emergency medicine since
3 1979. I worked full time at Mount Sinai Medical
4 Center and then at University Hospitals, I left
5 University Hospitals at the end of February 1999,

6 Q. Okay, So how many years were you with UH?

7 A. A little more than two.

8 Q. And prior to that, Mount Sinai?

9 A. Mount Sinai,

10 Q. For how many? About ten years? No, it would be
11 longer than that,

12 A. More. 16, 17,

13 Q. Okay, What did you review in connection with this
14 case? Everything that's in your letter -- do you
15 have a copy of your letter in front of you or do
16 you have one close by? I see that --

17 A. I reviewed the materials in my report and I'm
18 trying to get a copy of my report here, Here.

19 Q. Okay. You see Items 1 through 9 in the July 6th
20 report?

21 A. Yes.

22 Q. Have you reviewed anything other than those items?

23 A. No.

24 Q. Have you seen the depositions of Drs. Blinkhorn or
25 Watts?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. No.

2 Q. Have you been told what their opinions are in this
3 case?

4 A. No.

5 Q. Have you seen their reports?

6 A. No.

7 Q. My understanding is that the opinions that you will
8 offer at trial in this case are confined to the
9 care that Mr. Johnson received in the emergency
10 department at Elyria Memorial Hospital on the
11 visits of November 10 and 23?

12 A. Yes.

13 Q. You have not offered any opinions as to what
14 Mr. Johnson's cause of death was; is that correct?

15 A, No, They were listed on the autopsy,

16 Q. Do you have any reason to dispute those findings?

17 A, No.

18 Q. Okay, Is there anything in the -- let's see. Did
19 you review -- so you did review the autopsy? Yes,
20 okay.

21 A, Yes,

22 Q. And I assume you've seen the death certificate
23 associated with that? I just want to know if
24 there's anything in the coroner's findings that you
25 take issue with or you believe is incorrect?

1 A. No.

2 Q. Okay. Did you have notes or did you ju
3 your report from memory?

4 A. I took notes at the beginning of a couple of
5 depositions.

6 Q. Do you have your entire file with you?

7 A. Yes .

8 Q. Are the notes with it?

9 A. Let's see. If I have notes, they would be with me
10 here .

11 Q. Okay .

12 A. What would you like?

13 Q. I just want to take a look at your entire file.

14 A. This is my notes for Dr. Zivot's deposition. These
15 are some notes for the medical records on
16 Mr. Johnson. These are some notes on the
17 deposition of Dr. Naeem.

18 Q. Okay. Are they throughout or just in the front?

19 A. Just in the front.

20 Q. You basically go through the deposition and you
21 make reference to the page or the locations --

22 A. Yes.

23 Q. -- That assist you in preparing your report?

24 A. Yes .

25 Q. Okay .

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. Here are my notes for the deposition of Sandra
2 Johnson and I did not take any notes for the
3 deposition of Dawn Sturgeon,

4 Q. Does your file contain correspondence between
5 yourself and Ms. Petrello?

6 A. I have a letter from Ms. Petrello.

7 Q. That's just asking you to review?

8 A. Yes.

9 Q. Let me take a peek at that.

10 And then she asked you to telephone her to
11 discuss after you'd had a chance to review these
12 items?

13 A. Yes. Once I've had an opportunity to review the
14 records, please telephone me.

15 Q. And did you do that?

16 A. Yes.

17 Q. And what did you discuss at that point in time?

18 A. We discussed the nature of Mr. Johnson's illness
19 and his emergency care,

20 Q. Okay, And then you generated a report? She asked
21 you to generate a report, obviously?

22 A. Yes.

23 Q. And that's the report that's here?

24 A. Yes.

25 Q. Any other reports?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. That's the only one that I've written.

2 Q. Any other drafts of this report?

3 A. No .

4 Q. Okay. Well, what is the extent of your prior,
5 let's say, litigation experience either by way of
6 deposition or at trial? How many cases have you
7 looked at, and these would be legal cases as
8 opposed to medical cases.

9 A. It's hard to estimate. It seems that I do a couple
10 a year and so the extent would probably be at least
11 40 over the course of my 20-some years out of
12 residency.

13 Q. Any for plaintiff's counsel?

14 A. Yes.

15 Q. Any for this particular firm, Mazanec, Raskin &
16 Ryder, or Colleen Petrello or Ms. Harris?

17 A. Well, I just met Ms. Petrello today in person.
18 I've been here before, so I must have reviewed a
19 case in the past, but not the recent past. I mean,
20 not this year.

21 Q. You don't recall what it was?

22 A. No, I don't.

23 Q. Have you confined your litigation experience to
24 emergency department issues only?

25 A. Yes .

1 Q. Whether or not the standard of care v
2 emergency department?

3 A. Yes .

4 Q. Okay. Does University Hospitals hav
5 wait, strike that.

6 What is the percentage plaintiff's versus
7 defendant's cases that you've reviewed over the
8 years?

9 A. I would have a hard time estimating. I think I've
10 probably done more for the defense.

11 Q. Have you ever worked for the Reminger law firm?

12 A. I have reviewed cases for the Reminger law firm.

13 Q. Had you met Mr. Spisak before? Have you met him?

14 A. I'm not sure if I met him before today. I may
15 have.

16 Q. What about my law firm; do you know if you've ever
17 reviewed cases for my law firm, Nurenberg, Plevin,
18 Heller & McCarthy?

19 A. I don't know that I have.

20 Q. Throughout the time that you were at Mount Sinai,
21 did they have a fixed emergency department? If
22 somebody came in with a broken elbow or something,
23 they could go right to the emergency room and there
24 would be a set place that's the emergency room,
25 that's where you would go?

1 A. Yes.

2 Q. Did you break down into acute versus

3 was it just an emergency department

4 A. At Mount Sinai it was not divided,

5 Q. Okay. How about at University Hospital?

6 A. University Hospital used to have a fast track that

7 was separate on another floor.

8 Q. Fast, did you say?

9 A. Well, it was called fast track. It was an urgent
10 care center. It was operated independently. You'd
11 still present to the emergency department triage
12 desk, but if the triage nurse deemed it was not an
13 acute problem, you would go up to Bolwell to be
14 seen as opposed to the acute care emergency
15 department.

16 They closed that in February -- January of '97 and
17 I understand that they may be building something to
18 reopen it.

19 Q. How about the Clinic?

20 A. The Clinic has a fast track -- has a -- it's --
21 what's it called? It has a nonacute area that
22 patients could be triaged to.

23 Q. Do you understand the setup at Elyria Memorial
24 Hospital on November 10, 1996 when Mr. Johnson was
25 there?

1 A. My understanding was -- is that Med Expre
2 place in which patients who are deemed to
3 nonacute were sent as opposed to the acute side -
4 the emergency department, but I haven't seen the
5 setup.

6 Q. Is that similar to what they had at University or
7 is that different, do you know?

8 MS. PETRELLO: Well, objection.

9 A. I don't know. I haven't seen it.

10 Q. Well, you read Dr. Prince's deposition?

11 A. Right. My understanding is it would be similar to
12 what University Hospitals had before 1997, but I
13 can't attest to that. I haven't seen the setup at
14 Elyria.

15 Q. Okay. Is it the standard of care in the medical
16 community at large to take vital signs when a
17 patient presents to the emergency department?

18 A. I don't want to make semantics out of this because
19 the standard of care is dependent upon what the
20 policy is of the emergency department, so we have
21 to separate what the hospital's policy is from what
22 actual care is provided by the physician.

23 Q. Explain what you mean as it relates to taking
24 vitals. Give me an example.

25 A. Well, my understanding in this case that Elyria

LEONARD GAVLEN & ASSOCIATES, CO

1 Memorial Hospital had a policy that
2 were triaged to the Med Express si
3 nonacute side, did not routinely c
4 That was their policy at the time

5 Q. Did that policy change?

My understanding, I've been told that it has, but I
can't attest to that. I've never been inside
Elyria Memorial Hospital.

Okay. Have you ever worked at an emergency
department where vitals were not taken of anybody
that came in? Any hospital that you've ever been
-- tell me every hospital whether it was, --yeah,
anyplace that you've ever been, I want to know;
your internship, your residency, your medical
school, even one you went to as a kid when you
sprained your ankle, I don't care. Any hospital
that you've been at, that you worked at or visited,
that didn't take vitals, I'm talking about the
United States.

MR. SPISAR: I just want to make
sure I understand this, You're asking
this witness to tell you if he has ever
been in any hospital in the world
or just in the United States --

MR. DEMPSEY: Just in the United

1 States.

2 MR. SPISAK: -- Where
3 never been taken on any one oc
4 were ever taken, period?

5 MR. DENPSEY: Well, I'm going to
6 break it down that way, sure.

7 MR. SPISAK: I don't understand
8 the question,

9 MS. PETRELLO: Yeah, note my
10 objection, as well.

11 A. I have worked -- all of the hospitals that I have
12 been employed at full time have checked vital signs
13 on patients. Now, there are clearly individual
14 instances in which not all vital signs are taken
15 for different reasons.

16 Q. Can you give me the examples that you can think of
17 in your experience?

18 A. There are cases where people may come in with, say,
19 chest pain where they have their pulse taken, their
20 blood pressure taken and no temperature taken. It
21 was felt that for some reason it didn't -- the
22 immediate problem didn't have to do with that
23 particular vital sign and it was left blank for
24 some reason. But every place that I've worked at
25 had vital signs taken in the acute side of the

1 emergency room department.

2 Q. What about on the nonacute care side

3 A. Well, Mount Sinai didn't have a nona

4 the emergency department, When I wa

5 Hospital there was no nonacute side

6 emergency department, At the Cleveland Clinic we

7 take vital signs on the acute care side of the

8 emergency department and I can attest that we have

9 since 1999, since I've been there,

10 Q. And you did at University Hospitals?

11 A, University Hospitals when I was there did not have

12 a nonacute side,

13 Q. But on the acute care side?

14 A. Yes.

15 Q. At University Hospitals they would take acute and

16 nonacute conditions in their emergency room? They

17 didn't separate them?

18 A. They didn't separate them out.

19 Q. And am I correct that they took vital signs of all
20 the patients?

21 A. Yes,

22 MS. PETRELLO: At UH?

23 MR. SPISAK: At UH?

24 MS. PETRELLO: They didn't separate
25 it.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 MR. DEMPSEY: When he was there,
2 they didn't?

3 A. When I was there, UH did not have a separate
4 facility **for** nonacute fast track patients. They
5 were identified in triage perhaps as not being
6 acute, but they were all seen in the emergency
7 department.

8 Q. Sure. When you went to -- I'm sorry. When you
9 worked at University Hospitals, patients who came
10 into the emergency room would be both acute and
11 nonacute cases?

12 A. Yes.

13 Q. And all of those patients would have their vital
14 signs taken?

15 A. Some of them, if they were deemed truly acute, may
16 not have all of their vital signs taken.

17 Q. Truly acute?

18 A. Well, once again, if somebody came in with acute
19 pulmonary edema or chest pain specifically, they
20 would almost invariably get their pulse and blood
21 pressure taken, sometimes not their temperature.

22 Q. But nonacute?

23 A. At University there was not a separate nonacute
24 track. That closed in January of 1997. There was
25 not a separate urgent care fast track there,

LEONARD GAVLEN & ASSOCIATES, CO

1 Q. But nonacute patients would have the
2 pressure and temperature checked upon
3 the emergency department?

4 A. Upon arrival at the time.

5 Q. Same at Mount Sinai?

6 A. Yes.

7 Q. Same at the Clinic?

8 MR. SPISAK: You're talking about
9 a non and acute emergency department?

10 MR. DEMPSEY: Nonacute in an
11 emergency department.

12 MS. PETRELLO: At UH, there's no
13 distinction.

14 A. At Mount Sinai and University Hospitals everyone
15 was seen in the adult emergency department, There
16 was no separate fast track,

17 Q. It was called the emergency department, right?

18 A. It was called the emergency department.

19 Q. It was not called the acute or the nonacute, it was
20 just called the emergency department?

21 A. Right. There was no separate fast track, there **was**
22 no separate Med Express, there was no separate
23 facility for seeing nonacute patients.

24 Q. The emergency department at Mount Sinai, when you
25 worked there, saw acute and nonacute patients?

1 A. Yes.

2 Q. And they took vitals that would includ
3 pressure and temperature of nonacute p

4 Ae Yes. Now, back to your prior question, -- -- ----
5 patients who did not get a full set of vital signs?
6 Yes, there were.

7 Q. And those would be the acute patients where it
8 wasn't needed?

9 A. No, sometimes the nonacute. I could come up with
10 many examples where a particular vital sign was
11 eliminated and nobody thought that it was
12 clinically indicated to get it.

13 Q. Okay. Such as?

14 Ae Such as if you come in with a corneal abrasion
15 where clearly it's an isolated problem with one
16 organ system, and if somebody left out a
17 respiratory rate or a temperature and it was felt
18 to be clinically irrelevant to the presenting
19 problem, perhaps it was overlooked in triage and
20 the physician didn't think it added anything to the
23 care, so they didn't go back and recheck that
22 particular vital sign.

23 Q. Triage is the nurse taking the initial information,
24 vitals, whatever it may be?

25 A. Well, triage is a sorting out process. The triage

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 nurse's job is to assess who is sickest, who needs
2 to come back in what setting. So for the next open
3 bed, who's the sickest patient who gets it.

4 Q. What about at University, then? As I know it when
5 you were there, University Hospitals, it was just
6 called the emergency department?

7 A. Yes.

8 Q. There was no acute versus nonacute distinction?

9 A. No. There was still triage to assess who was
10 sickest, who were the Category 1, 2, 3 patients who
11 had to come back, but there was no physically
12 geographic separate section when I was there.

13 Q. And the same would be the case at the Cleveland
14 Clinic?

15 A. No. Cleveland Clinic has a separate section which
16 is a fast track urgent care.

17 Q. Are you familiar with the fast track urgent care at
18 the Cleveland Clinic?

19 A. Yes.

20 Q. Have you worked in it?

21 A. Yes.

22 Q. Are vitals taken there?

23 A. Yes.

24 Q. What vitals?

25 A. The standard vital signs are pulse, temperature,

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 blood pressure, respiratory rate.

2 Q. Is it the nurse's job to take the vitals or the
3 emergency room physician's job to take the vitals?

4 A, In our institution it's done, in general, by
5 nursing staff, If a physician feels that it is
6 indicated, they're free to either request a repeat
7 of the vital signs or fill in any blanks in the
8 vital signs that weren't taken. It's the job of
9 everybody who's taking care of the patient.

10 Q. In your entire career as an emergency department
11 physician, which is how many years now?

12 A. 21 out of residency,

13 Q. Have you ever seen a hospital -- well, let's
14 include residency and medical school. How many
15 years of medical training?

16 A. 24,

17 Q. 24. Have you ever seen a hospital with an
18 emergency department, acute or nonacute, that
19 completely disregards a patient's vital signs?

20 MR. SPISAK: Note my objection to
23 that.

22 MS. PETRELLO: Objection.

23 MR. SPISAK: There's no evidence
24 to that fact,

25 A. Nobody can disregard vital signs, That's why

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 they're called vital. If I see your vital signs, I
2 don't disregard them.

3 Q. What I'm saying is, you're familiar with what
4 Elyria claims their policy was at the time Mr.
5 Johnson came to the hospital?

6 A. My understanding was that people who were triaged
7 to the Med Express side, that is, they were deemed
8 by whatever nurse assessed them to be nonacute, did
9 not routinely get vital signs. That was my
10 understanding of their policy.

11 Q. Have you ever seen that policy at any other
12 hospital in your 24 years in the medical field?

13 MR. SPISAK: Objection.

14 MS. PETRELLO: Objection.

15 A. I can't say that I've studied a lot of hospitals'
16 policies along those lines.

17 Q. Fair enough. Let's just confine it to the
18 hospitals that you have been affiliated with in the
19 24 years.

20 MR. SPISAK: Most of which haven't
21 had nonacute facilities.

22 MS. PETRELLO: Objection, as well.

23 Q. Whether they've had acute or nonacute facilities,
24 whether the distinction has been made or not, have
25 you ever seen that policy at any of the hospitals

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 that you have been affiliated with?

2 A. The hospitals that I have been affiliated with have
3 policies of routinely collecting vital signs on
4 patients who present to the emergency department.

5 Q. Were you trained as to what vital signs are or why
6 you take them? Is that part of your medical school
7 training?

8 A. I don't know that there's specific training on why
9 vital signs are taken. It's an assumption that
10 vital signs -- vital signs may be important in the
11 assessment of certain types of patients, and, in
12 the word, the implication is that vital signs are
13 vital.

14 Now, there's argument within the house of
15 emergency medicine over what those signs -- those
16 vital signs should be and whether they're really
17 relevant to every patient. Many people think that,
18 for example, pulse oximetry should be done on
19 everyone as a fifth vital sign, that it's certainly
20 more important than some of the others in a lot of
23 patients. So what signs are vital can be arbitrary
22 in some cases.

23 Q. Well, someone with knowledge relative to the
24 standard of care as to what an emergency department
25 does or the emergency physician does, to your

LEONARD GAWLEN & ASSOCIATES, COURT REPORTERS

1 knowledge, is it the standard of care throughout
2 the United States for an emergency department,
3 whether it's acute or nonacute or whether they have
4 an acute side versus a nonacute side to take vital
5 signs?

6 MR. SPISAK: Objection.

7 MS. PETRELLO: Well, I'm going to
8 make an objection,

9 MR. DEMPSEY: No speeches, just an
10 objection. That's all you're allowed to
11 make on the record,

12 MS. PETRELLO: Are you talking about
13 **1996?** Are you talking about 2000? And it
14 does make a difference if it's acute
15 versus nonacute and it makes a difference
16 in what year.

17 MR. DEMPSEY: I've been very
18 patient letting you make speeches, but I
19 want to make my position clear. If there
20 are any more speeches or clarifications --
21 if I screw up the question I've
22 got to live with it at trial and you'll be
23 able to say to the judge, He didn't say
24 vital, he didn't say
25 acute, he didn't say '96, he didn't say

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 2000. But as far as I understand, you're
2 not allowed to make speeches because that
3 could be sending signals over
4 to the witness. I don't think you're
5 trying to do that, I think you're trying
6 to make things clear here. But, in
7 fairness, if you're going to continue
8 to make speeches, I'm going to have to
9 stop the deposition, get a ruling from
10 Judge Betleski and get a ruling that I be
11 allowed to ask the questions without them.

12 I just want you to understand that.

13 MS. PETRELLO: Well, Richard, I'm
14 trying to make the record clear.

15 MR. DEMPSEY: I understand.

16 MS. PETRELLO: And I'm trying to
17 make **it** fair, all right? And there are
18 issues as to the years and what you're
19 talking about because you're mixing it up.

20 So to the extent that I can abide by
21 your warning, I will. But to the extent
22 that I can't, don't expect me to.

23 MR. DEMPSEY: We still like each
24 other even if she called me Richard, and
25 we're yelling at each other -- well, were

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 not yelling.

2 MS. PETRELLO: we're not yelling.

3 My daughter would tell you I'm not
4 yelling.

5 MR. SPISAK: I haven't heard
6 anybody yelling and I haven't heard any
7 speeches. I just want to say that on the
8 record,

9 MR. DEMPSEY: Thank you, Mr.
10 Spisak, on our next vice president.

11 Q. I'm asking you about the standard of care. Let's
12 nail it down. Throughout the entire time that you
13 have practiced -- and what year did you start
14 medical school?

15 A. 1976.

16 Q. And when did you first step into a hospital as part
17 of your medical school?

18 A. Oh, I'm sorry, I started medical school in 1972.

19 Q. In '72. And that was in what city?

20 A. Philadelphia.

21 Q. And you stepped into a hospital as part of your
22 medical training and got your feet wet in 19- --

23 A. 1974.

24 Q. The third year of your medical school?

25 A. Yeah.

1 Q. From '74 up through the present
2 it a fair statement that it has
3 understanding that regardless
4 nonacute patients, regardless
5 hospital has an acute or a nor!
6 standard of care in this count
7 your knowledge -- and again I understand that it's
8 based on your experiences at the hospitals you've
9 been with, and if it's based on reading journals or
10 being familiar with other hospitals, for whatever
11 reason -- that the standard of care is to take the
12 patient's vitals when they present to the emergency
13 department and those vitals would include blood
14 pressure and temperature?

15 MR. SPISAK: Note my objection.

16 MS. PETRELLO: Mine, as well.

17 A. Okay. Speaking as a physician, I'm not a lawyer,
18 let me explain what my perception of what standard
19 of care is and why I think it's really important to
20 the clinical practice of medicine.

21 Every place I've practiced has a policy of
22 checking vital signs on patients who come into the
23 emergency department regardless of their complaint.
24 Where I have a problem with the concept is the care
25 itself, Some vital **signs** are clearly --

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 historically, vital signs have been defined as
2 respiratory rate, temperature, pulse and blood
3 pressure. And sometimes they're left out and
4 sometimes the physician doesn't care because
5 they're not relevant to what the patient has.
6 If you have a scratch on your eye it almost
7 doesn't matter what your respiratory rate is,

8 It doesn't matter what your temperature is.
9 Most people would rather have a pulse oximetry on a
10 patient. That's going to tell me more, I'd rather
11 have five vital signs. The care provided depends on
12 what the patient has, not on any fixed rules.

13 And the reason, in this case, is that it's
14 more than a matter of semantics because this
15 particular hospital had a policy of not mandating
16 vital signs on a patient who didn't appear sick to
17 the triage nurse, And then you say, well, okay,
18 that's the hospital's policy. Shouldn't the doctor
19 have gotten it? But the doctor has to be allowed
20 leeway on what's important and what's not in any
21 given case, And if I think it's important to check
22 five sets of blood
23 pressures or three sets of temperatures, then I
24 want the leeway to do that, And if your
25 temperature is left out on a chart and I don't

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 think it's important, that doesn't violate standard
2 of care because I'm allowed clinical latitude
3 there.

4 So every place I've worked has checked vital
5 signs on every human being that's come in and
6 sometimes they leave some out and sometimes they
7 don't. And if they leave them out, it's my job to
8 pick them up, but, to me, that does not define
9 standard of care as I've practiced medicine.

10 Q. If a patient comes in to you and they're
11 complaining of maybe hip pain or some groin pain,
12 you don't have vitals, a patient comes in to you
13 overweight, Let's make them like Mr. Johnson, his
14 physical characteristics as he presented on
15 November 10th of --

16 A. Right.

17 Q. -- Of '96, I believe?

18 A. Yes.

19 Q. That patient comes in to you, hip pain, groin pain.
20 What was it that he had?

21 A. My understanding, buttock pain, hip pain, groin
22 pain.

23 Q. Okay, You would agree with me that when you saw
24 that patient, that could be any number of things?
25 The cause of that pain could be any number of

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 things?

2 A. Exactly.

3 Q. All right. Now, what are the potential or the
4 possible causes, all that you can think of? I want
5 to know everything that goes through your mind as
6 the emergency room physician when you meet that
7 patient.

8 MS. PETRELLO: And all the patient
9 has is hip pain, is that what the question
10 is?

11 MR. DEMPSEY: He has what Mr.
12 Johnson had on November 10th.

13 MS. PETRELLO: Well, then, why don't
14 you look at the records here. Are we
15 talking about Mr. Johnson or is this a
16 hypothetical? I'm not sure what you're
17 asking.

18 MR. DEMPSEY: I'll ask him in a
19 minute. Let's figure out what he presented
20 with.

21 A. My understanding is that the patient presented with
22 right hip pain for six days. Began to get pain
23 after getting up from a cart after physical
24 examination last week. He denies any back pain.
25 Ambulates with a limp. In no **acute distress**.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 Pleasant, alert, oriented times three. Skin warm
2 and dry.

3 With any complaint, you could divide up the
4 possible presenting complaint into anything that
5 could be present, was it something he was born
6 with, was it something infectious, was it something
7 neoplastic. Could he have had a tumor in his hip?
8 Could it evidence an injury? There are broad
9 categories of anything that could cause a symptom.
10 I don't have the patient in front of me, so I don't
11 know what he looks like.

12 Q. Is his weight there?

13 A. No, but I'm given that his weight was about 240
14 pounds, so everybody seems to agree that he's
15 somewhat overweight. And then you have order, your
16 differential, Most doctors would do it in terms of
17 what the commonest things are and what is common is
18 musculoskeletal pain, is common back pain, muscle
19 strain.

20 In the emergency department you have to
21 maybe put things in a little bit different order
22 because you have to think of what's most severe and
23 what's most life-threatening, and for a hip, some
24 acute fracture, he's a little young for that,
25 Could he have a septic hip? That could be a

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 crippling injury. Those are the things that come
2 to mind.

3 Q. Okay. Now, how do you determine whether or not
4 it's orthopedic or infectious, for example, that
5 could be the root of this pain, some infectious
6 process or an orthopedic process? How would you
7 make that determination? Considering that both of
8 them are serious, within the realm of -- obviously
9 the infectious being more serious and
10 life-threatening than the orthopedic. You would
11 agree with that?

12 A, Not necessarily. Orthopedic could be a hip
13 fracture, it could be necrosis of the hip.

14 Q. But could it be life-threatening?

15 A. Quite serious, Immediately life-threatening?
16 There's not much that goes along in somebody's hip
17 that's going to be immediately life-threatening.
18 Then you go into the vascular problems.
19 Does he have an embolus and cut off the circulation
20 to his leg? Most things that happen to a hip in a
21 40-some-year-old gentleman are not immediately
22 life-threatening.

23 Q. Fair enough. So how do you rule out orthopedic
24 versus infectious versus neoplastic versus -- what
25 was **the** fourth one **that** you **said**?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. Oh, congenital.

2 Q. Or spontaneous? You didn't use the word
3 spontaneous, but --

4 A. Right. Well, first of all, we're presented with a
5 problem that -- a problem with the hip is
6 inherently not likely to be life-threatening
7 immediately. It's hard to imagine a lot of things
8 that could do you in tonight that could be going on
9 with your hip. He ambulates with a limp.

10 Truthfully, even if he had a fracture of his
11 hip, first of all, he wouldn't be
12 able to ambulate, but it's not going to kill him
13 tonight. If he has a bone tumor in his hip, that's
14 not going to kill him either. If he had an
15 infection in his hip, that can -- we know, in
16 retrospect, he didn't, but that can cause problems
17 over the course of a few days and it can cause
18 severe degenerative arthritis and it can be a
19 crippling injury.

20 But once -- and I don't want to -- I don't
21 want to queer somebody's judgment that a triage
22 nurse already assessed the man, and he didn't look
23 sick, and he was sent to the Med Express side, but
24 that's pretty much what I would go through in my
25 mind. Somebody with a chief complaint related to a

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 hip, a joint, there's not an awful lot of
2 life-threatening things that could be going on that
3 day.

4 so -- but there are a few serious things that
5 could.

6 Q. And you've named them?

7 A. Right.

8 Q. And none of them are immediately life-threatening?

9 A. No, I haven't named anything that was immediately
10 life-threatening.

11 Q. A bullet, but we didn't have one?

12 A. Right, right, but if he was shot in the hip he
13 probably would have given a history of that,

14 Q. I'm saying based on his presentation, would you
15 expect him to go nonacute or acute?

16 A. Somebody who is walking in, you can justify putting
17 somebody on to the nonacute side.

18 Q. Could you justify putting this person on to the
19 acute side?

20 A. I wouldn't argue, if I was working on the acute
21 side, if this person was triaged to the acute side,
22 either.

23 Q. Either side you wouldn't have argued with?

24 A. He's not in front of me. The triage nurse has
25 guidelines to follow and is presumably trained to

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 do the job and she identified him as being
2 nonacute.

3 Q. Now, if you -- well, what did the doctor do to
4 treat
5 this patient?

6 A. He --

7 Q. She.

8 A. She, I'm sorry.

9 Q. That's okay.

10 A. She evaluated, noted the appearance of the patient,
11 seemed to be not in acute distress. Pleasant,
12 alert, oriented times three, skin warm and dry.
13 Noted tenderness, she seemed to understand that.
14 Decreased range of motion. She checked pulses.
15 Did not appear to have anything threatening to the
16 integrity of his leg.

17 Neurovascular intact. I don't see a
18 detailed neurologic examination, But somebody, for
19 example, who couldn't lift their foot or had an
20 acute neurologic deficit would clearly have an
23 acute medical emergency,

22 Got an x-ray of the hip. Didn't see a
23 fracture. Gave him an injection for pain and
24 clinically had the impression that this was a local
25 musculoskeletal problem.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 Q. What was the injection?

2 A. It was of Toradol. It's a pain medication,
3 antiinflammatory medicine.

4 Q. Is it a narcotic?

5 A. It's a nonnarcotic. It's the same medicine as
6 Motrin, Naprosyn. This happens to be the only
7 injectable one,

8 Q. What was the dosage that he received?

9 A. 60 milligrams.

10 Q. Where did he receive the injection?

11 A. Well, it runs over the page. I was under the
12 impression -- oh, here it is. Intramuscular,

13 Q. Is that an arm, hip area, thigh?

14 A. Probably gluteus, buttock.

15 Q. Buttock area. What was the dosage did you say?

16 A. 60 milligrams.

17 Q. Is that a normal dosage?

18 A. The usual dosage is usually 30 or 60 milligrams.

19 Q. Have you given patients 60 milligrams of Toradol?

20 A. I actually have. I prefer 30, but I have seen and
21 give 60.

22 Q. Why would you give 60 if the usual dosage is 30?

23 A. Interestingly, some people felt a few years ago
24 that 60 was more efficacious. There's no evidence
25 that it works any better than 30, so I go with 30.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 Q. Dr. Zivot testified in his report that 30 was
2 normal and 60 was double to that. Did you take
3 issue with that?

4 MS. PETRELLO: I'm going to raise an
5 objection because even your own expert has
6 withdrawn all his own criticisms, but go
7 ahead.

8 A. If I could refer to my notes here on Dr. Zivot's
9 testimony because I have a few. He listed an
10 overdose of Toradol on Page 54 of his deposition.
11 I do take issue with that, That's not an overdose
12 and I don't think 60 milligrams of Toradol
13 interferes with the health of anybody like this,
14 (Brief interruption.)

15 MR. DEMPSEY: Back on the record,

16 Q. Page 54 of Dr. Zivot's deposition testimony said he
17 received an overdose of the Toradol.

18 A, Yes.

19 Q. You don't agree that's an overdose?

20 A. No, I don't agree that's an overdose, To me, an
21 overdose is something with the potential to cause
22 harm.

23 Q. He then says 60 milligrams, which is twice the
24 recommended dose, Do you agree with that
25 statement?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. No. The recommended dose could be either one,

2 Q. What did you mean when you say usually it's 30, but
3 sometimes you could do 60?

4 MS. PETRELLO: Objection.

5 A. There's evidence that 30 works just as well as 60
6 and there's no reason to use the 60.

7 Q. Colleen says that later in the deposition Dr. Zivot
8 said that that was -- that he was not critical of
9 that, that was not a deviation with giving the 60
10 milligrams. But you don't believe that giving 60
11 is a deviation from the accepted standard of care?

12 A, No, I don't believe that's a deviation.

13 Q. Now, the patient does not respond to the
14 medication; isn't that true?

15 A, That is true, on that record, Now, my
16 understanding, actually, was that he was improved
17 later, but during the emergency visit, that's true.
18 There's no documentation that the Toradol improved
19 his symptoms.

20 Q. Now, would that make you suspicious that there
21 might be something else going on?

22 A. Not in and of itself, People can still have pain
23 after being given an injection of pain medication.
24 That in and of itself doesn't prove that the pain
25 is coming from something else.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 The failure to respond to Toradol in and of
2 itself does not make me suspicious that there is
3 something else going on. Sometimes Toradol just
4 doesn't work.

5 Q. Well, how do you, as the emergency department
6 physician, come to the conclusion that it's a hip
7 pain and not an infectious process? What I'm
8 getting at, if infectious process is one of the
9 possible diagnoses for hip pain, one of the
10 possible explanations for hip pain certainly if an
11 infection is there, you do want to treat it?

12 A. Absolutely so,

13 Q. And infections can become deadly, in other words,
14 they can kill people if not treated?

15 A. Not -- infections absolutely can, In this
16 particular case, how do I know that he did not have
17 an infection causing his hip pain? Well, there are
18 two things.

19 First, he didn't -- I'll quote Dr. Zivot.
20 He didn't have any chills, fever, fast heart rate,
21 any complaint of being hot or cold, Clinically he
22 didn't have an infection.

22 Number two, and most convincingly, we have
24 the gold standard on autopsy he didn't have a hip
2E infection, He didn't have an infection in his

1 buttock, He didn't have an infectio
2 He didn't have an infection in his
3 have any infection that could have
4 and between the 10th of November an
5 wasn't treated for any infection.

6 So I guess the gold standard is the autopsy
7 and knowing that he wasn't treated for any
8 infection and he didn't have a hip infection.

9 Q. He never was treated for an infection by anyone
10 until they attempted to save him on the 23rd, of
11 course?

12 A. Right. Until he was really an extremist, right.

13 Q. Really when it was too late. So, how do you -- how
14 else might you know that a patient had an
15 infection? You gave me some of the signs and
16 symptoms.

17 Certainly you would agree that if a patient
18 presented with a temperature, that could be a sign
19 of an infection?

20 A. It could be a sign of an infection, In general,
21 somebody really needs to have some signs and
22 symptoms of an infection for you to start doing any
23 diagnostic workup. As I said, as an emergency
24 department physician, I really don't like to be
25 judged in the retrospectroscope, that they had

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 something and doing something else.

2 Q. You mean doing something.

3 A. In this case, they have the benefit of the
4 retrospectroscope. We know he didn't have an
5 infection in his hip. He had hip pain; he didn't
6 have an infection in his hip. It was never
7 treated, so it would never have gotten better on
8 his own.

9 He didn't have an infection in his hip. To
10 say how did we know that on November 10th, 1996,
11 well, we didn't know that. He didn't have any
12 signs. He didn't have any complaint of anything
13 that might lead to an infection. We only know that
14 in retrospect because on autopsy he didn't have a
15 hip infection.

16 Q. What would you have done if this patient presented
17 to you with hip pain and an elevated temperature?

18 MS. PETRELLO: Objection.

19 A. If the patient had signs of a hip infection that
20 was worrisome, the next step that you would do
21 would be to evaluate with laboratory testing. He
22 obviously got an x-ray, plain x-ray. There are
23 other imaging studies you can do of a hip to tell
24 if there's an infection in the hip.

25 Q. I asked Dr. Prince at Page 59 of her deposition --

LEONARD GAVLEN & ASSOCIATES,

1 A. Okay.

2 Q. -- At Line 11, Page 59: If a pa
3 the emergency department, let's
4 express side, with a history of
5 the hip region such as Mr. John
6 with me that pain could be due to an injury.
7 And she said, With the history of getting
8 off the cart that would be due to injury.

9 The answer was "yes."

10 The question: "There would be infection,
11 that is possible?"

12 Her answer: "Yes, possible."

13 Do you agree with what she has said there?

14 A. Yes.

15 Q. Okay. I asked her then: "If you had a history of
16 an injury such as this with six days duration, and
17 vital signs revealed an elevated temperature, you
18 might suspect infection?"

19 Her answer: "It's possible."

20 You would agree with that?

21 A. Yes.

22 Q. And 'if you did suspect infection, you would order
23 blood pressures' -- it should be blood work-- 'to
24 see if there's an elevated white blood count?'
25 'You can't do blood pressures'. 'That wouldn't

1 show an elevate white blood count?'

2 A, Right.

3 Q. And 'if you did suspect infection, you
4 blood work to see if there's an elevate
5 blood count?'

6 The answer: 'Possibility.'

7 You would agree with that?

8 A. Yes.

9 Q. Would you have treated somehow if there was an
10 elevated white blood count? Well, first of all, if
11 a patient would come to your emergency department
12 with a history of hip pain like this, you found an
13 elevated temperature, you ordered blood work, how
14 long would it take you to get that white blood
15 count back?

16 MS. PETRELLO: Continuing objection.

17 That's not in evidence, but go ahead.

18 A, Oh, any laboratory should be able to give you a
19 white blood count within an hour, Maybe 20
20 minutes, 30 minutes. It depends on the lab.

21 Q. Let's say you found a white blood count -- what's
22 the normal range?

23 A. The range -- normal range cited is 5,000 to 10,000
24 blood count,

25 Q. So if it's slightly elevate between 10 and 11,000,

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 what would you do?

2 A. I don't want to belabor this with philosophy, but I
3 will. Any laboratory test has to be taken in the
4 context of what you're dealing with, If you come
5 to me with pain on the right lower quadrant of your
6 abdomen and I'm worried about appendicitis, a white
7 count could be very useful. If I draw your white
8 blood count now and you have an elevated white
9 blood count, I'd be crazy to send you to a surgeon
10 to have your appendix taken out,

11 I only order lab tests if I have a clinical
12 reason, So I don't order a test that I can't use
13 regardless of the value,

14 Q. Someone clinically that you're not suspicious of an
15 infection, is that what you said?

16 A, Okay.

17 Q. I'm asking the question differently. If you are
18 suspicious of an infection based on hip pain and a
19 temperature, those two findings, and you ordered a
20 white blood -- I'm sorry, you ordered blood work in
21 order to determine whether or not there's an
22 infection, you'd be looking at the white blood
23 count?

24 MS. PETRELLO: Objection.

25 A. That would be one of the things, but let me explain

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 a little further.

2 Q. Okay.

3 A. A test is only useful if it helps you make a
4 clinical judgment. That is, if I'm worried about a
5 specific thing like an infection in your hip and
6 the question comes, how am I going to use the white
7 blood count?

8 If your count is 8,000, that will help if I
9 am going to send you home, based on a normal blood
10 count. If your blood count is 20,000, I'm going to
11 get you to hospital for tests, for scans of your
12 hip, sedimentation rate. That test is useful. If
13 it's not a useful test, it's not going to help me
14 make a clinical decision. And if it's not going to
15 help me make a clinical decision, I shouldn't order
16 the test. Now, we don't have a white blood count
17 from that day. We have a white blood count --

18 Q. November 2.

19 A. -- November 2.

20 Q. Well, it might have been November 5.

21 A. It was 12,900, which Dr. Prince wasn't privy to,
22 didn't know about, and I guess my own attitude, as
23 an emergency physician, if you're not going to use
24 the result of a test, don't get the test.

25 But I don't see an indication of getting a

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 white blood count that day. I don't see how that
2 would be useful.

3 Q. Let me stop you for a moment. If the patient has
4 staph -- what was the infection in his body? Was
5 it a staph infection?

6 A. The staph was in the pericardium, a staph
7 infection.

8 Q. If the staph organism was in his body before it
9 went to his heart, before it went to his
10 pericardium, would it be diagnosable through blood
11 work?

12 A. If blood cultures were positive, perhaps, yes, but
13 I can't imagine anybody doing a blood culture on
14 this man. I don't see any indication for getting a
15 blood culture on this man.

16 Q. On the 10th?

17 A. Well, understand something. I don't particularly
18 care for the concept of outpatient blood cultures
19 anywhere. If you're so worried that somebody has a
20 serious infection you don't send them home. If I'm
21 worried enough to get a blood culture on you, I'm
22 worried enough to confine you in a hospital.

23 Q. Or at least keep me there until you get the
24 results?

25 A. But a blood culture takes a day or two so that is

1 not an option.

2 Q. What would the effect of staph be
3 somebody's body -- be on their wh

4 MR. SPISAK: I'r
5 to that.

6 MS. PETRELLO: Just a continuing
7 objection to like all of this.

8 A. A white blood count is such a nonspecific finding.
9 Classic is everybody assumes, well, you have a bad
10 infection, white blood count goes up. But if
11 you're really, really sick, you've got a low white
12 blood count, It's very difficult to make a
13 decision based in isolation on any white blood
14 count, I could only give you a couple examples and
15 they would be in patients with malignancies. If
16 the patient is sick enough to worry about it, then
17 you're going to need to do other tests,

18 Q. If a staph infection is brewing in somebody's body
19 and it hasn't started moving to the pericardium,
20 has seated somewhere, has seated somewhere where it
21 can grow and fester, will it affect the patient's
22 white blood count?

23 A. It might.

24 Q. How?

25 A. A white blood count can't diagnosis a staph

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 infection,

2 Q. Agreed.

3 A. And --

4 Q. But it can show infection?

5 A. No.

6 Q. It won't show what infection?

7 A. A white blood count is such a nonspecific finding
8 that I do not equate infection and elevated white
9 blood count, if that's what you're getting at. If
10 you look at the causes for elevated white blood
11 count, infection is one of them, but there are a
12 lot of others and that's why I don't care for blood
13 counts to make clinical decisions out of context.

14 Q. Do you order them?

15 A. I order them because I may be worried about
16 something that would affect my judgment in somebody
17 who has had cancer, chemotherapy. I'm worried if
18 their blood count is very, very low, they can't
19 fight off infection. If they have leukemia, I'm
20 worried if their white blood count is very, very
21 high. It depends on the context of it,
22 I can't see any indication this day on
23 November 10 for getting a blood count. I don't
24 know how I would use it in isolation out of
25 context. Is it possible, viewed in retrospect,

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 that his hip pain was coming from an infection in
2 his hip? Sure, but we know it wasn't. He didn't
3 have an infection in his hip. I don't go looking
4 for infections elsewhere if they don't have
5 symptoms elsewhere.

6 Q. If you found an elevated temperature, you might
7 order a white blood count, If you had pain and
8 elevated temperature, you might order a white blood
9 count?

10 A. I might.

11 Q. And if in this patient giving this history, this
12 presentation on the 10th, you had done that and you
13 saw that the white blood count was 12,900 a few
14 days before, let's say 12,900, what would you have
15 done next?

16 MS. PETRELLO: Objection.

17 MR. SPISAK: Same objection,

18 Q. And what I was getting at before was if this
19 organism was in his body since that white blood
20 count of 12,900 on the 4th or 5th, would it have
21 elevated since then if the organism was in his
22 body?

23 That's what I was getting at. So you can
24 answer that question and go back and say what was
25 it if it was 12,9 on that day?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 MR. SPISAK: I have no idea what
2 you asked. Could I have it at least read
3 back so maybe the second time around I
4 could do better, or do you want
5 to re-ask it?

6 MR. DEMPSEY: I'll re-ask it.

7 Q. What I was saying, I was getting at A equals B.
8 What I meant before, what I was getting at if --
9 where are Dr. Naeem's notes?
10 The white blood count of 12, 9 was found on
11 -- 12,900, the physical was November 2 and Dr.
12 Naeem put it on that chart note, but this was
13 November 7, I think, was when the lab study was
14 done.
15 A. I think that's true.
16 Q. Five days later. That's when they found the white
17 blood count of 12,900?
18 A. Yes.
19 Q. The normal range is 4?
20 A. It's -- it depends on the laboratory. As a rough
21 estimate, since I don't know Elyria Memorial's
22 laboratory, let's say 5,000 to 10,000. Sometimes
23 it runs as high as 11 and as low as 4,
24 Q. Yeah. This lab, it was 4 to 10,500, so 12,900 is
25 what was found on the 7th. So if he had the staph

LEONARD GAVLEN & ASSOCIATES. COURT REPORTERS

1 organism in his body, by the 10th, would the white
2 blood count be higher if you took it? Would it be
3 the same? That's my question.

4 MS. PETRELLO: Objection.

5 A. It's pure conjecture and it sort of flies in the
6 face of any reasoning in emergency medicine because
7 if you don't know how to use the test, don't order
8 the test. And not -- I really want to steer clear
9 of primary care practice because I don't understand
10 why the white blood count was drawn and I don't see
11 that it was used in any way, and Dr. Prince wasn't
12 privy to the results anyway. So to cast a net over
13 somebody's entire body to look for some evidence of
14 infection just broadly when they present with hip
15 pain, and we know in retrospect they didn't have a
16 hip infection, I can't understand the reasoning for
17 ordering any tests, especially one that we wouldn't
18 know how to use, You come -- the tests comes back
19 as mildly elevated and abnormal.

20 Nobody uses the test and I'm not sure anybody
21 would understand how to use the test in an
22 emergency setting. This man didn't look
23 sick enough to confine and blood cultures will take
24 a day or two to do, so I don't see why a test be
25 done if you're not going to use the test. If you

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 don't want to know the answer, don't ask the
2 question.

3 Q. Well, that's -- well, okay.

4 If blood work had been done on the 10th at
5 the emergency room and you received the results
6 that were received on the 7th, what would you have
7 done? Same elevated white blood count.

8 MS. PETRELLO: Objection.

9 MR. SPISAK: Objection.

10 A. I guess I'd first say since it's hypothetical and I
11 can't say that I would have ordered the test, I
12 would have first kicked myself for ordering the
13 test because now we're presented with a test that's
14 mildly elevated, not alarmingly so.

15 But I ordered the test and, therefore, I
16 should have some rationale for doing it and acting
17 on it. I'd have to see the patient in front of me.
18 Say apparently he looked well, he looked well to
19 the triage nurse. He didn't look like he needed to
20 be confined for an inpatient workup for some
21 ill-defined elevated white blood count. I don't
22 know what I would do with the test. I don't think
23 I would have ordered it and I don't know how I
24 would have used it.

25 Q. Even if you would have gotten that result, you

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 would have sent him home?

2 A. No. Again -- once again, the hypothetical is I've
3 ordered the test. Now I've got a test that I
4 wouldn't have ordered perhaps, perhaps didn't think
5 it was indicated, but now I'm presented with an
6 abnormal result. What do I do with it besides kick
7 myself for ordering the test.

8 I would take another look at the patient,
9 reassess them clinically and say, Do I have any
10 reason to pursue this further in the emergency
11 department or in the Med Express, or is this
12 something that the primary care doctor can follow
13 up on, if the patient has access to follow up.
14 And say, You look pretty well. I don't know
15 why you have an elevated white blood count, but I
16 think this is something your primary care physician
17 could look into. I don't have a reason to.

18 Q. So what you would have done is -- if you got an
19 elevated white blood count, is brought it to the
20 patient's attention?

23 A. Yes.

22 Q. And say, Take this to your primary care physician?

23 A. Yes. You need to see your primary care physician
24 and give him a full court press. You really don't
25 say, Look, **something is going to happen to you in**

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 the next couple of days and I don't know what
2 because I have this nonspecific laboratory
3 abnormality. Or say, Mr. Johnson, you look pretty
4 well. I don't know what to do with this abnormal
5 test, but if you have access to a primary care
6 doctor, let's have you see him in a timely way and
7 let's follow this up, because I'm uncomfortable.
8 You have something vague going on. I don't know
9 what. I wish I hadn't ordered this test, but now
10 that I have, I need to follow up on it. Please see
11 your doctor pretty soon and, if need be, I'll call
12 him and express my concerns.

13 Q. Is that something you typically do when you receive
14 an abnormal test, do you let the patient do it or
15 do you take it upon yourself to contact the -- what
16 did you call them, the primary care physician?

17 MS. PETRELLO: Objection.

18 A. If I'm truly -- I don't like to act in a void on a
19 test. Putting everything together, I have to make
20 an ultimate disposition. Either the patient needs
21 further -- I'm assuming at Elyria Memorial there's
22 only two dispositions one can make on a patient.
23 They either go home or they're admitted. At the
24 Cleveland Clinic we can put them in an observation
25 bed area for 24 hours.

1 If a patient looks well enou
2 but I am concerned, yes, I think i
3 to contact the patient's either pr
4 physician or an appropriate specia
5 Johnson worries me. I have a bad
6 him. I'd like you to see him tomorrow, if that
7 were the case. Yes, I think that's appropriate for
8 the emergency physician to make that call.

9 Q. Would you tell the primary care physician that the
10 patient had a temperature?

11 MS. PETRELLO: Objection.

12 A. If the patient had a temperature it was documented,
13 yes, that's part of the report. If they had an
14 abnormal pulse or blood pressure, I would tell them
15 that, too.

16 Q. If the emergency department doctor got an abnormal
17 temperature and an elevated white blood count,
18 yourself, would you tell -- elevated temperature,
19 elevated white blood count 12,9, would you say "I
20 suspect infection" to the primary care physician?

21 MS. PETRELLO: Objection.

22 A. Given -- well, of course, if that's your concern,
23 that's what you tell the primary care physician.
24 Once again, these are pure hypotheticals. For
25 better or for worse, we do not have a set of vital

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 signs on the 10th of November. We're all agreed on
2 that, What they would have been would have been
3 everybody's conjecture. Although it's not
4 conjecture in my mind. Ne did have normal blood
5 pressure the next day, he had a normal pulse the
6 next day, he had no complaint of fever or chills,
7 so it's pure conjecture that he would have had any
8 abnormal vital signs had they been taken. I have
9 no reason to think they would have been.

10 Q. If he had an infectious process going on in his
11 body would he have had abnormal vital signs?

12 A. Perhaps.

13 Q. What would the abnormal vital signs have been?

14 A. Well, it depends on what the infection is, where it
15 is and how serious it is. Truly serious infection,
16 septic syndrome patient, can be tachycardic, have
17 rapid heart rate. Truly sick people have a low
18 blood pressure, elevated respiratory rates. Very,
19 very sick patients have either a low temperature or
20 very, very high temperature, The temperature can
21 be all over the map.

22 Q. Can it be normal?

23 A. Yes.

24 Q. Do you think that it's a good idea to have an
25 emergency department that does not take vitals?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 MS. PETRELLO: Objection.

2 MR. SPISAK: Note my objection,

3 A. That's certainly not my preference.

4 Q. Why?

5 A. For patients who are potentially sick, vital signs
6 are named that because they're vital, more vital in
7 some patients than in others. And some vital signs
8 are more important than others. I'd rather have
9 pulse oximetry done on everyone, but that's not
10 done.

11 Q. Pulse oximetry requires modern day equipment?

12 MS. PETRELLO: Well, the --
13 objection.

14 A. The equipment has been available for 15, 20 years.

15 Q. Well, that's since 1980?

16 A. Yes.

17 Q. 1985?

18 A. Yeah.

19 Q. I tend to call that more modern day. You're going
20 to follow --

21 MR. SPISAK: You're showing your
22 age now.

23 MS. PETRELLO: Do you know how they
24 take temperatures these days with the
25 little thing in the ear?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. Once again, it depends on the presenting complaint.
2 If we're dealing with somebody with asthma, the
3 most important sign might be the respiratory rate.
4 If we're dealing with somebody with a dysrhythmia,
5 their most important vital signs might be their
6 blood pressure. Some others, their most important
7 vital signs is the pulse oximetry.

8 Q. Have you seen any records that say it wasn't the
9 standard of care for Elyria Memorial to do vital
10 signs?

11 MS. PETRELLO: Objection. Asked and
12 answered.

13 A. I haven't seen any policies from Elyria Memorial.

14 Q. I haven't seen them. I've asked for them and they
15 haven't been produced.

16 A. I haven't asked for them and I haven't seen them.

17 MR. DEMPSEY: Les, I renew my request for
18 that. I know the people say we didn't do
19 that at that time so go about your
20 business, but I did ask for them on
21 February 1st of this year and I'd renew
22 my request that those documents be
23 produced.

24 Q. The nurse simply didn't take the vitals. That was
25 the Nurse Dawn Sturgeon said she didn't.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. Yeah, I read her deposition.

2 Q. She said -- this was on Page 15. In '96 there was
3 a time period, I'm not sure how long that time
4 period was, but I'm only saying that it was in 1996
5 because of this record, We were told it was not
6 okay -- strike that.

7 We were told that it was okay to not obtain
8 vital signs on a patient attending the Med Express
9 area of the department as long as the patient was a
10 result of an injury.

11 I said: Who said that?

12 She said: I can't remember. I believe it
13 was in writing at one time.

14 I said: I would request those records, all
15 writings advertising that it was not necessary to
16 obtain vital signs of patients coming to the Med
17 Express allegedly due to injury,

18 I asked if it was a manual or a memo or a
19 book. And she said she believes it was a memo and
20 it's no longer a policy.

21 Now, she says, based on the JCAHO
22 regulations all patients coming into the emergency
23 room regardless of whether or not they're going to
24 Med Express or the emergency room, they are to have
25 vital signs.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 Do you know what the JCAHO is?

2 MS. PETRELLO: Objection,

3 A. That's Joint Commission for Accreditation of Health
4 Care Organizations. It gets back to the semantics,
5 You want to pin me down on standard of care,
6 whether it's what constitutes care, and what
7 constitutes mandated regulations by a regulatory
8 agency, and --

9 MS. PETRELLO: That's all right,
10 you've answered that question.

11 Q. You're going to give that same answer again -- am I
12 correct, you're going to repeat what you said
13 earlier. Or do you want to add something?

14 A. I don't have anything to add.

15 MR. DEMPSEY: Well, Colleen asked
16 you to stop and he was just going to give
17 me the answer.

18 MS. PETRELLO: Well, he answered the
19 question.

20 Q. If he wants to add something, in fairness, be my
21 guest, Tell me every benefit you can think of to
22 not take a patient's vital signs. You can't think
23 of any, can you?

24 A. I can't think of any benefit, It's not
25 particularly time consuming or labor intensive, 1

1 guess the major benefit that I can thi
2 relate to this case. It's a task that
3 I don't want somebody dictating what I
4 and then declaring that it's standard of care,
5 it doesn't-- if it's not necessarily going to help
6 patient care.

7 Not to say that vital signs don't help
8 patient care, I just don't like a regulation for
9 its own sake.

10 Q. Okay, But in terms of patient care overall you
11 cannot think of any benefit to having a rule or a
12 regulation or a standard in place in a particular
13 hospital to not take vital signs?

14 MS. PETRELLO: Objection.

15 A. No.

16 Q. And that same answer would apply to emergency
17 rooms, whether acute or nonacute, Med Express,
18 whatever you want to call them, Same answer,
19 right?

20 A. Same answer,

21 Q. Do you remember seeing in any of these depositions
22 -- I'm asking you to do my work for me, and I'll do
23 it while we're sitting here -- but do you remember
24 seeing in any of these nurses' depositions that it
25 was the standard of care at EMH at this time to

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 take vitals?

2 MS. PETRELLO: Objection. The
3 testimony relates and he's not here to
4 spit back up their testimony.

5 MR. SPISAK: Objection.

6 A. I've only read one RN deposition indicating that
7 she was not mandated to.

8 Q. Right. But the one who didn't do it said that she
9 was not mandated to do it. That was Dawn Sturgeon.
10 Is there a place in the form or document,
11 whatever the sheet is that Mr. Johnson's history
12 and notes were taken down on the 10th on, that one
13 could record vital signs? Are you looking at the
14 record from the 10th?

15 A. Yes.

16 Q. Is there even a -- I understand that according to
17 certain -- according to the nurse who didn't take
18 the vital signs, this hospital didn't take nonacute
19 patients' vital signs that came to Med Express. Is
20 there anywhere on their chart to even record the
21 vital signs?

22 A. Yes, there is.

23 Q. Where is that?

24 A. That's on the upper left-hand portion of the
25 physician ledger,

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 MS. PETRELLO: We'll stipulate the
2 record speaks for itself.

3 MR. SPISAK: We'll stipulate that
4 the form says what the form says,

5 Q. Where is that?

6 A. That's in the upper left-hand corner, It says
7 visual acuity, left eye, right eye, blood pressure,
8 respiratory, pulse, temperature, police notified,
9 yes, no, There's a lot of blanks on the form.

10 Q. What is this?

11 A. Time 11:35.

12 Q. What does it say?

13 A. T, temperature; P, pulse,

14 Q. What does it say there? Is that the nurse's
15 initials? Can you read that?

16 MS. PETRELLO: Objection. If you
17 know.

18 A. I don't know.

19 MS. PETRELLO: You must have asked
20 the nurse that, didn't you?

21 Q. I think it says "def." Deferred?

22 A. I'd have to ask the nurse,

23 Q. Right.

24 MR. DEMPSEY: Les, do you have
25 those standards?

LEONARD GAWLEN & ASSOCIATES, COURT REPORTERS

1 MR. SPISAK: I will check. I
2 don't recall as I sit here whether I do or
3 not.

4 MR. DEMPSEY: Because according to
5 your expert, Mary Jane Martin Smith -- do
6 I have all the names in there?

7 MR. SPISAK: That's it.

8 MR. DEMPSEY: Mary Jane Martin
9 Smith says that Elyria Memorial Hospital's
10 policy and procedure regarding vital signs
11 states that when patients are admitted, I
12 don't know if that's an oral policy or
13 something written.

14 MR. SPISAK: I don't know if she's
15 referring to the deposition. I'll check
16 to see whatever she reviewed.

17 MR. DEMPSEY: Okay, Thanks.

18 MR. SPISAK: I think you got them.

19 Q. Before we leave I'd like to get copies of your
20 notes, just have you briefly state on the record
21 what you wrote. Because I don't know if I can read
22 it. Is there anything there that's not just a
23 summary of -- for example, somebody says white
24 blood count was 12,900 and you wrote, Page 11,
25 12,900, as opposed to, Never take white blood count

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

in emergency room because -- some opinion.

2 A. No.

▪ These are strictly notes?

31
4 A. Strictly notes,

5 Q. Strictly notes?

6 A. I don't write opinions in my notes.

7 Q. Nothing more than that, summarizing the
8 depositions?

9 A. Right. You may have all of them.

10 (Brief interruption.)

11 Q. In your report you wrote, at the second full
12 paragraph after the nine itemized items that you
13 looked at, Although he had myocarditis,
14 pericarditis and a myocardial abscess, I note that
15 no infection was found, Why do you note that? Was
16 that going back to the fact that there was no hip
17 infection or why was that?

18 A. There was no infection found anywhere else, Well,
19 there was no hip infection, but there was no
20 infection noted from anywhere else, I don't know
21 where he got the infection from.

22 Q. He died as a result of staphylococcus aureus
23 bacterium settling into one of the ventricular
24 walls and causing a pusy mass and blowing out that
25 ventricle; is that your understanding?

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. That's my understanding.

2 Q. A staph is an organism?

3 A. Yes.

4 Q. It gets into the body through an opening usually?

5 A. I don't see where it got into his body,

6 Q. You don't know?

7 A. This is a very, very rare occurrence -- event, I
8 don't know how it happened.

9 Q. You don't know how it got into his body?

10 A. No,

11 Q. You're familiar with the organism?

12 A. Yeah.

13 Q. You would agree that it travels around the body
14 until it finds a weakened area to settle down and
15 set up shop and cause damage?

16 MS. PETRELLO: Objection.

17 Q. Do you know -- I was trying to just use general
18 terms, obviously, It could settle in an arthritic
19 joint, it could settle in a weakened area of the
20 myocardium or the pericardium, for example, if
21 weakened or compromised? You'd agree with that?

22 A. I don't know where the organism entered his body,
23 I don't know why it settled in his pericardium.
24 It's a very unusual infection and I don't know
25 where the site was.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 Q. And you don't know when?

2 A. I don't know when.

3 Q. You say in that same paragraph, I also note that
41 although heart failure was noted as the primary
5 cause for death, no myocardial infarct was noted,
6 either acute or recently resolving.

7 What was your point there?

8 A. Well, my point there was probably a reaction to
9 reading Dr. Zivot's deposition where he claims that
10 the patient had a myocardial infarction on, I
11 believe, November 2nd.

12 The day that an EKG was taken, it showed some
13 nonspecific T-wave abnormalities. I thought it was
14 just an interesting statement to make that he must
15 have had a myocardial infarction sometime in the
16 office on a day when he wasn't having any chest
17 pain or shortness of breath, and the EKG was a
18 routine EKG. I couldn't see any evidence that he
19 had a myocardial infarction then, and I was looking
20 for it on the autopsy.

21 A myocardial infarction that's a couple of
22 weeks old is still detectable on an EKG.

23 Q. So if he had a myocardial infarct on Dr. Naeem's
24 EKG on the day of that physical, November 2, there
25 would have been evidence of **that MI on autopsy?**

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 A. I would have thought so. Of course, some evidence
2 while he was having it. My read was that the EKG
3 was part of his routine primary care.

4 Q. Did you look at that EKG?

5 A. I did.

6 Q. Is it abnormal?

7 A. It's abnormal, but I don't read an acute myocardial
8 infarction into it,

9 Q. Was there anything that you would have done to that
10 EKG? If the patient was in the hospital and you
11 ordered an EKG and you saw those findings, would
12 you have done something about it?

13 A. Well, you're talking in my practice as an emergency
14 physician? I'm not here to pass judgment on a
15 primary care doctor,

16 Q. You're not critical of Dr. Naeem?

17 A. No, I don't do primary care.

18 MS. PETRELLO: In fairness, I
19 obviously didn't have him focus on Naeem's
20 care,

21 Q. Absolutely. And I understand that Dr. Naeem
22 indicated that Mr. Johnson, based on that EKG --
23 well, based on his presentation and EKG, he was to
24 do certain things like watch his weight, watch his
25 salt intake or whatever it was about his diet, but

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 he was also supposed to follow up and get a stress
2 test?

3 A. That was my understanding of what Dr. Naeem had
4 suggested.

5 Q. Anything about that that you would be critical of
6 or does that sound okay to you?

7 A. Once again, I don't do primary care.

8 Q. I understand.

9 A. My role in evaluation of coronary artery disease is
10 somebody who is symptomatic from it. They come to
11 the emergency department because of symptoms
12 related to the heart, whether they have shortness
13 of breath or whether they have chest pain, and I do
14 an EKG within the context of their symptoms. I
15 don't do screen EKGs.

16 Q. Would you ever find somebody that was symptomatic
17 where you might be suspicious and order an EKG?

18 A. Absolutely. I'd like to think that any test that I
19 order, I have a reason for it.

20 Q. Without any chest pain or diaphoresis or some other
21 ongoing problem?

22 MS. PETRELLO: Just note a
23 continuing objection.

24 A. I don't do screening EKGs in the emergency
25 department. If you pass out, if you're feeling

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 light-headed, if I'm worried about you for some
2 reason or even if you have a fractured hip and I'm
3 worried that you're not stable to go to surgery,
4 but I have to have a reason for doing it. Then if
5 I detect an abnormal EKG, I act on it.

6 Q. What would you have done if you would have received
7 this EKG?

8 MS. PETRELLO: Objection.

9 A. Once again I'm not a primary care physician. I
10 wouldn't have done it in this setting and I
11 wouldn't have received it in this setting.

12 Q. Based on that EKG does this look like a person at
13 great risk? As a physician now, I'm just asking
14 you as a doctor.

15 MS. PETRELLO: Objection.

16 A. What is the point here?

17 Q. I want to know if this guy is going to keel over on
18 the 2nd and needs to be admitted to the hospital
19 according to the doctor?

20 MS. PETRELLO: What difference does
21 it make? We're talking about the care on
22 November 10, so how he looked a year ago,
23 five years ago, two years ago,
24 it doesn't matter.

25 MR. DEMPSEY: Sure it does. This

LEONARD GAVLEN & ASSOCIATES,, COURT REPORTERS

1 is exactly what he walked into the
2 emergency room with. I'm not suggesting
3 that an EKG should have been ordered
4 in the emergency room.

5 Q. I'm just asking you, based on your review of that
6 medical record of November 2nd, does this person
7 look like he's in dire trouble?

8 MS. PETRELLO: Objection, to the
9 extent you can answer that.

10 A. Okay. My read is no, that the EKG was done as part
11 of a routine health screening exam. As far as all
12 the risk factors go, I'm certain if he were
13 overweight he had been overweight for months. He
14 had been smoking for a long time. He had been
15 hypertensive for a long time. He had all the risk
16 factors that he had for a very long time. But in a
17 setting of an outpatient visit, whether it's to the
18 Med Express side or to the emergency department, he
19 had hip pain. It's not suggestive -- yes, he also
20 had risk factors for heart disease, but that's not
21 within the purview of our practice. If somebody
22 comes in with hip pain we focus on what might be
23 his chief complaint.

24 Q. Right. You wouldn't do an EKG, obviously?

25 A. I wouldn't do an EKG. I don't think it was

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 indicated,

2 Q. I'm not -- I'm just asking if he looked like a
3 goner on November 2nd to you or in dire straits, in
4 big trouble?

5 A. There's nothing that on November 2nd or the 10th
6 that he looked like somebody that was going to be
7 dead within a month.

8 Q. And you are not critical of Dr. Naeem?

9 MS. PETRELLO: Objection. Asked and
10 answered and --

11 A. I'm not here to pass judgment on Dr. Naeem,

12 Q. And you will not testify that Dr. Naeem somehow
13 deviated from the accepted standards of care at the
14 time of trial?

15 A. No. You're -- the only thing that you brought up
16 about an elevated white count was that Dr. -- My
17 answer to that is that Dr. Prince wasn't privy to
18 the results, didn't order the test and if you want
19 to act on the results, it's the purview of the
20 person who ordered the test to have the results to
21 do it, but I'm not critical of Dr. Naeem.

22 MR. DEMPSEY: Almost done. Do
23 you have any questions, Les?

24 MR. SPISAK: (Shaking head.)

25 MR. DEMPSEY: Let me just

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 collect my thoughts for a minute. I think
2 I'm done, I just want to make sure that I
3 am.

4 Dr. Glauser, thank you very much.

5 THE WITNESS: Thank you.

6 MR. DEMPSEY: I don't have any
7 other questions, Let me just look at your
8 notes quickly and see if there is anything
9 I want to ask you about.

10 MR. SPISAK: Richard, I'm
11 going to run,

12
13 (Plaintiffs' Exhibits 1 through 4 marked
14 for identification.)

15
16 (Deposition concluded at 7:15 p.m.)

17
18 (Signature not. waived.)
19
20
21
22
22
24
25

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

LEONARD GAVLEN & ASSOCIATES COURT REPORTERS
9319 Clifton Road
Cleveland, Ohio 44102-1609
(216) 281-9242 * FAX (216) 281-9242

August 15, 2000

Dr. Glauser
C/O Attorney Petrello
Solon, Ohio

In re: Johnson vs. Naeem, et al.,

Dear Dr. Glauser:

On Tuesday, August 8, 2000 your deposition was taken before me in the above-entitled matter. The deposition has been transcribed and is now ready at Attorney Colleen Petrello's office where you may read and sign same, pursuant to the Ohio Rules of Procedure.

If the deposition is not signed within 7 days, it may be filed without your signature.

Please call Ms. Petrello's office at (440) 248-7906 if you have any questions.

Leonard R. Gavlen

cc: All listed, page 2.

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

Q.	Page	Line	Notation.
1		.	
2		.	
3		.	
4		.	
5		.	
6		.	
7		.	
8		.	
9		.	
10		.	
11		.	
12		.	
13		.	
14		.	
15		.	
16		.	
17		.	
18		.	
19		.	
20		.	
21		.	
22		.	
23		.	
24		.	
25		.	
26		.	
27		.	
28		.	
29		.	
30		.	
31		.	
32		.	
33		.	
34		.	
35		.	
36		.	
37		.	
38		.	
39		.	
40		.	
41		.	
42		.	
43		.	
44		.	
45		.	
46		.	
47		.	
48		.	
49		.	
50		.	
51		.	
52		.	
53		.	
54		.	
55		.	
56		.	
57		.	
58		.	
59		.	
60		.	
61		.	
62		.	
63		.	
64		.	
65		.	
66		.	
67		.	
68		.	
69		.	
70		.	
71		.	
72		.	
73		.	
74		.	
75		.	
76		.	
77		.	
78		.	
79		.	
80		.	
81		.	
82		.	
83		.	
84		.	
85		.	
86		.	
87		.	
88		.	
89		.	
90		.	
91		.	
92		.	
93		.	
94		.	
95		.	
96		.	
97		.	
98		.	
99		.	
100		.	
101		.	
102		.	
103		.	
104		.	
105		.	
106		.	
107		.	
108		.	
109		.	
110		.	
111		.	
112		.	
113		.	
114		.	
115		.	
116		.	
117		.	
118		.	
119		.	
120		.	
121		.	
122		.	
123		.	
124		.	
125		.	
126		.	
127		.	
128		.	
129		.	
130		.	
131		.	
132		.	
133		.	
134		.	
135		.	
136		.	
137		.	
138		.	
139		.	
140		.	
141		.	
142		.	
143		.	
144		.	
145		.	
146		.	
147		.	
148		.	
149		.	
150		.	
151		.	
152		.	
153		.	
154		.	
155		.	
156		.	
157		.	
158		.	
159		.	
160		.	
161		.	
162		.	
163		.	
164		.	
165		.	
166		.	
167		.	
168		.	
169		.	
170		.	
171		.	
172		.	
173		.	
174		.	
175		.	
176		.	
177		.	
178		.	
179		.	
180		.	
181		.	
182		.	
183		.	
184		.	
185		.	
186		.	
187		.	
188		.	
189		.	
190		.	
191		.	
192		.	
193		.	
194		.	
195		.	
196		.	
197		.	
198		.	
199		.	
200		.	
201		.	
202		.	
203		.	
204		.	
205		.	
206		.	
207		.	
208		.	
209		.	
210		.	
211		.	
212		.	
213		.	
214		.	
215		.	
216		.	
217		.	
218		.	
219		.	
220		.	
221		.	
222		.	
223		.	
224		.	
225		.	
226		.	
227		.	
228		.	
229		.	
230		.	
231		.	
232		.	
233		.	
234		.	
235		.	
236		.	
237		.	
238		.	
239		.	
240		.	
241		.	
242		.	
243		.	
244		.	
245		.	
246		.	
247		.	
248		.	
249		.	
250		.	
251		.	
252		.	
253		.	
254		.	
255		.	
256		.	
257		.	
258		.	
259		.	
260		.	
261		.	
262		.	
263		.	
264		.	
265		.	
266		.	
267		.	
268		.	
269		.	
270		.	
271		.	
272		.	
273		.	
274		.	
275		.	
276		.	
277		.	
278		.	
279		.	
280		.	
281		.	
282		.	
283		.	
284		.	
285		.	
286		.	
287		.	
288		.	
289		.	
290		.	
291		.	
292		.	
293		.	
294		.	
295		.	
296		.	
297		.	
298		.	
299		.	
300		.	
301		.	
302		.	
303		.	
304		.	
305		.	
306		.	
307		.	
308		.	
309		.	
310		.	
311		.	
312		.	
313		.	
314		.	
315		.	
316		.	
317		.	
318		.	
319		.	
320		.	
321		.	
322		.	
323		.	
324		.	
325		.	
326		.	
327		.	
328		.	
329		.	
330		.	
331		.	
332		.	
333		.	
334		.	
335		.	
336		.	
337		.	
338		.	
339		.	
340		.	
341		.	
342		.	
343		.	
344		.	
345		.	
346		.	
347		.	
348		.	
349		.	
350		.	
351		.	
352		.	
353		.	
354		.	
355		.	
356		.	
357		.	
358		.	
359		.	
360		.	
361		.	
362		.	
363		.	
364		.	
365		.	
366		.	
367		.	
368		.	
369		.	
370		.	
371		.	
372		.	
373		.	
374		.	
375		.	
376		.	
377		.	
378		.	
379		.	
380		.	
381		.	
382		.	
383		.	
384		.	
385		.	
386		.	
387		.	
388		.	
389		.	
390		.	
391		.	
392		.	
393		.	
394		.	
395		.	
396		.	
397		.	
398		.	
399		.	
400		.	
401		.	
402		.	
403		.	
404		.	
405		.	
406		.	
407		.	
408		.	
409		.	
410		.	
411		.	
412		.	
413		.	
414		.	
415		.	
416		.	
417		.	
418		.	
419		.	
420		.	
421		.	
422		.	
423		.	
424		.	
425		.	
426		.	
427		.	
428		.	
429		.	
430		.	
431		.	
432		.	
433		.	
434		.	
435		.	
436		.	
437		.	
438		.	
439		.	
440		.	
441		.	
442		.	
443		.	
444		.	
445		.	
446		.	
447		.	
448		.	
449		.	
450		.	
451		.	
452		.	
453		.	
454		.	
455		.	
456		.	
457		.	
458		.	
459		.	
460		.	
461		.	
462		.	
463		.	
464		.	
465		.	
466		.	
467		.	
468		.	
469		.	
470		.	
471		.	
472		.	
473		.	
474		.	
475		.	
476		.	
477		.	
478		.	
479		.	
480		.	
481		.	
482		.	
483		.	
484		.	
485		.	
486		.	
487		.	
488		.	
489		.	
490		.	
491		.	
492		.	
493		.	
494		.	
495		.	
496		.	
497		.	
498		.	
499		.	
500		.	
501		.	
502		.	
503		.	
504		.	
505		.	
506		.	
507		.	
508		.	
509		.	
510		.	
511		.	
512		.	
513		.	
514		.	
515			

LEONARD GAVLEN & ASSOCIATES, COURT REPORTERS

1 State of Ohio,)
2 County of Cuyahoga.) SS: CERTIFICATE

3 I, Judi Sadler, Registered Professional Reporter
4 and Notary Public in and for the State of Ohio,
5 duly commissioned and qualified do hereby certify
6 that the within named witness, JONATHAN GLAUSER,
7 M.D., was by me first duly sworn to testify the
8 truth, the whole truth, and nothing but the truth
9 in the cause aforesaid; that the testimony then
10 given by him was by me reduced to
11 stenotypy/computer in the presence of said witness,
12 afterward transcribed by me, and that the foregoing
13 is a true and correct transcript of the testimony
14 so given by him as aforesaid. I do further certify
15 that this deposition was taken
16 at the time and place in the foregoing caption
17 specified and was completed without adjournment.
18 I do further certify that I am not a relative,
19 counsel or attorney of the parties herein, or
20 otherwise interested in the event of this action.
21 IN WITNESS WHEREOF, I have hereunto set my hand and
22 affixed my seal of office at Cleveland, Ohio, on
23 this 14th day of August, 2000.

24 Judi Sadler, RPR. My commission expires 9/14/04.
25