

In The Matter Of:

Doc. 159

*BARBARA GRASGREEN v.
MERIDIA HILLCREST HOSPITAL*

*JAMES GOLDSTEIN, M.D.
June 1, 1995*

*Gerald Hanson & Associates
Court Reporters
200 Renaissance Center, Ste. 655
Detroit, MI 48243
(313) 567-8100 FAX: (313) 567-4362*

*Original File goldstei.asc, 135 Pages
Min-U-Script® File ID: 2903168033*

Word Index included with this Min-U-Script®

Page 1

[1] IN THE COURT OF COMMON PLEAS OF
[2] CUYAHOGA COUNTY, OHIO
[3]
[4] BARBARA D. GRASGREEN,
[5] etc., et al.,
[6] Plaintiffs,
[7] -vs- Judge Griffin
[8] Case No. 263268
[9] MERIDIA HILLCREST
[10] HOSPITAL, et al.,
[11] Defendants,
[12]
[13]
[14] The deposition of James A. Goldstein, M.D., a
[15] witness in the above-entitled cause, taken before Joan
[16] E. Martin, CSR-0111, a Notary Public in and for
[17] Oakland County, Michigan, (acting in Oakland County,
[18] Michigan), at 3601 W. Thirteen Mile Road, Royal Oak,
[19] Michigan, on the 1st day of June, 1995, commencing at
[20] 2:20 p.m., pursuant to the Michigan Court Rules.
[21]
[22]
[23]
[24]

Page 2

[1] APPEARANCES:
[2] DALE P. ZUCKER, ESQ.
[3] Zucker & Trivelli
[4] 600 Standard Building
[5] Cleveland, Ohio 44113
[6] (216) 694-3055
[7] Appearing on behalf of the Plaintiffs.
[8]
[9] JOHN R. SCOTT, ESQ.
[10] Reminger & Reminger
[11] 7th Floor 113 St. Clair Building
[12] Cleveland, Ohio 44114
[13] (216) 687-1311
[14] Appearing on behalf of the Defendant,
[15] Dr. Chentow.
[16]
[17] JOHN V. JACKSON, II, ESQ.
[18] Jacobson, Maynard, Tuschman & Kalur
[19] 1001 Lakeside Avenue
[20] Suite 1600
[21] Cleveland, Ohio 44114-1192
[22] (216) 736-8600
[23] Appearing on behalf of the Defendant,
[24] Dr. Van Dyke.

Page 3

[1] Royal Oak, Michigan
[2] June 1st, 1995
[3] About 2:20 p.m.
[4] **MR. SCOTT:** Doctor, my name is John
[5] Scott, again. I represent Dr. Chentow in this case.
[6] **EXAMINATION BY MR. SCOTT:**
[7] **Q:** Have you had your deposition taken before?
[8] **A:** Yes.
[9] **Q:** Let me have an understanding of what your
[10] experience is in testifying in depositions and in
[11] reviewing malpractice cases. Have you reviewed
[12] malpractice cases prior to this one?
[13] **A:** Yes.
[14] **Q:** When did you first begin reviewing
[15] malpractice cases?
[16] **A:** Approximately 1984.
[17] **Q:** Have you reviewed any cases for Plaintiff
[18] counsel before this one?
[19] **A:** No.
[20] **Q:** Have you reviewed any cases for attorneys in
[21] Cleveland before this one?
[22] **A:** Not that I recall.
[23] **Q:** Have you testified in Ohio?
[24] **A:** No.

Page 4

[1] **Q:** About how many cases do you have pending that
[2] you are reviewing?
[3] **A:** Three.
[4] **Q:** In the last 12 months or a years time about
[5] how many cases do you review?
[6] **A:** Three to five a year.
[7] **Q:** When I say review, I mean by that an attorney
[8] sends to you information and asks your opinion as to
[9] whether the care met departed from the standards. Is
[10] that what you mean as well?
[11] **A:** Yes.
[12] **Q:** How long have you been reviewing cases at
[13] that approximate number per year, three to five per
[14] year, has that been ongoing since roughly '84?
[15] **A:** No, I think really only the past four or
[16] five years where I have had three to five cases per
[17] year roll by.
[18] **Q:** Have you testified out of the state of
[19] Michigan?
[20] **A:** Yes.
[21] **Q:** What percentage of these cases that you have
[22] been involved with take you outside of the state?
[23] **MR. ZUCKER:** John, the doctor has
[24] only been in Michigan for eight months.

Page 5

[1] **MR. SCOTT:** All right.

[2] **A:** I was in Missouri from 1986 up to until last

[3] August and I would say all but one case that I ever

[4] reviewed with the exception of one or two while I was

[5] residing in Missouri.

[6] **Q:** Can you estimate for me the number of

[7] depositions that you give in a years time?

[8] **A:** I have only given a total of six or seven

[9] depositions ever, and they all are in the past four or

[10] five years.

[11] **Q:** And those cases - strike that. Have any of

[12] those cases ever dealt with the issue of TPA?

[13] **A:** Yes.

[14] **Q:** How many cases would you say?

[15] **A:** One. One directly and I dealt with a number

[16] of cases that have dealt with acute myocardial

[17] infarction or chest pain syndrome where questions

[18] about thrombolytic therapy was the central issue

[19] involved with the case.

[20] **Q:** Was that case in Missouri?

[21] **A:** No. Illinois.

[22] **Q:** When was that case, approximately?

[23] **A:** Four years ago.

[24] **Q:** What was the issue in that case?

Page 6

[1] **A:** I have to try to recollect the exact

[2] details. I was actually reviewing the case for the

[3] Defendant physician who administered thrombolytic

[4] therapy to a patient who was a good candidate for

[5] thrombolytic therapy. The physician was there - it

[6] was her job to evaluate the patient and make the right

[7] decision to give the thrombolytic a try and the

[8] patient suffered a fatal intercerebral hemorrhage. I

[9] think that case was dropped by the Plaintiff.

[10] **Q:** Did you give a deposition in that case?

[11] **A:** Yes.

[12] **Q:** Do you remember the case name?

[13] **A:** No.

[14] **Q:** Or the case attorney?

[15] **A:** There's a consortium in Illinois that handles

[16] that sort of thing.

[17] **Q:** When you say consortium, what do you mean by

[18] that?

[19] **A:** Physicians interinsurance exchange or some

[20] global group that insures many of the physicians in

[21] Illinois. I don't remember the specific law firm. It

[22] was more under the umbrella, this insurance consortium

[23] that covers liabilities insurance for physicians.

[24] **Q:** Do any of your publications deal with TPA?

Page 7

[1] **A:** Yes.

[2] **Q:** Can you identify them for me? I have a copy

[3] here if you like.

[4] **MR. ZUCKER:** There's a number of

[5] them, grants and publications?

[6] **A:** Are you interested just in the publications?

[7] **Q:** (BY MR. SCOTT) Correct.

[8] **A:** You say TPA, can I include thrombolysis?

[9] **Q:** Sure.

[10] **A:** You want just the TPA versus chest pain and

[11] acute myocardial infarction?

[12] **Q:** Yes.

[13] **A:** Reference number 29 is the one that most

[14] directly deals with thrombolytic therapy. It is an

[15] experimental study looking at the advantages of direct

[16] angioplasty for acute myocardial infarction versus

[17] thrombolysis.

[18] It was a study of both TPA and

[19] streptokinase. Then a lot of the other publications

[20] that deal with ischemic and infarction talk about

[21] management of myocardial infarction, which includes

[22] the use of thrombolytic drugs. There are seven or

[23] eight publications that have portions of them that

[24] deal specifically with thrombolysis. Do you want me

Page 8

[1] to list those, too?

[2] **Q:** You have listed number 29. Are you able to

[3] identify those others?

[4] **A:** Yes.

[5] **Q:** Okay.

[6] **A:** Number 12 is Determinants of Hemodynamic

[7] Compromise With Severe Right Ventricular Infarction.

[8] Number 13 is Pathophysiology of Hemodynamically Severe

[9] Right Ventricular Infarction.

[10] Actually number 19 is a very

[11] relevant reference. It is Coronary Angiography With a

[12] Novel Mobile Radiographic Imaging System, which refers

[13] to a unique system I developed to do angiography at

[14] bedside particularly in patients who have been given a

[15] thrombolytic drug to try to see whether the arteries

[16] are open or not.

[17] Number 22, Determinants of the

[18] Recovery of Right Ventricular Performance Following

[19] Experimental Chronic Right Coronary Artery Occlusion,

[20] deals with that issue. Number 28, Effects of

[21] Reperfusion on Ischemic Right Ventricular Dysfunction:

[22] Disparate Mechanisms of Benefit Related to Duration of

[23] Ischemia. Both those latter two deal with a response

[24] the right heart to collusion and then reperfusion,

Page 9	Page 11
<p>[1] which is opening up the blood vessel, therefore is</p> <p>[2] direct relevance in discussions of thrombolytic</p> <p>[3] drugs.</p> <p>[4] Q: Thank you, Doctor.</p> <p>[5] Have you published any materials in</p> <p>[6] connection with the life expectancy of patients having</p> <p>[7] a condition similar to the patient in this case?</p> <p>[8] A: Similar. Much of the data on mortality comes</p> <p>[9] not only from the literature on myocardial infarction,</p> <p>[10] which would deal with many of the references that I</p> <p>[11] just described, but a lot of the - one of the major</p> <p>[12] determinants, if not the major determinant, of</p> <p>[13] survival is the magnitude of damage to the left</p> <p>[14] ventricular and impairment of its ejection fraction,</p> <p>[15] which when it's severe leads to heart failure.</p> <p>[16] It is an area that I have been</p> <p>[17] focused on for the past four years as director of</p> <p>[18] heart failure and cardiac transplant service. Just as</p> <p>[19] an example, reference number 14, entitled Treatment of</p> <p>[20] Congestive Heart Failure by Afterload Reduction,</p> <p>[21] discusses the natural history of congestive heart</p> <p>[22] failure, which is most commonly a result of a damage</p> <p>[23] from heart attacks and discusses the life span</p> <p>[24] particularly related to depression of the left</p>	<p>[1] office?</p> <p>[2] MR. ZUCKER: Of his deposition?</p> <p>[3] Q: (BY MR. SCOTT) Or of the person who asked</p> <p>[4] you for the deposition or for whom you gave the</p> <p>[5] deposition?</p> <p>[6] A: I am sure a record exists.</p> <p>[7] MR. ZUCKER: John, I will agree to</p> <p>[8] get you copies of anything that you want from the</p> <p>[9] doctor if he can get it.</p> <p>[10] Q: (BY MR. SCOTT) In that case, were you</p> <p>[11] testifying on behalf of the doctor or the patient?</p> <p>[12] A: Plaintiff.</p> <p>[13] Q: Have you ever testified in connection with</p> <p>[14] the role of a house physician or a house doctor or a</p> <p>[15] house officer?</p> <p>[16] A: Not that I recall.</p> <p>[17] Q: I assume that you have not written on that</p> <p>[18] subject; is that fair to say?</p> <p>[19] A: No.</p> <p>[20] Q: I am right in that?</p> <p>[21] A: I have not written on the subject.</p> <p>[22] Q: Do you have any involvement in the selection</p> <p>[23] of house officers at this institution?</p> <p>[24] A: I will. I have only been here for eight</p>
Page 10	Page 12
<p>[1] ventricular performance.</p> <p>[2] Q: Have you testified in connection with life</p> <p>[3] expectancy?</p> <p>[4] A: Yes.</p> <p>[5] Q: When was the last time?</p> <p>[6] A: In Arkansas.</p> <p>[7] Q: Approximately how long ago?</p> <p>[8] A: Within the past six months.</p> <p>[9] Q: What was that case name?</p> <p>[10] THE WITNESS: Is it kosher for me</p> <p>[11] to give out privileged and confidential information?</p> <p>[12] MR. ZUCKER: No, no. It is okay.</p> <p>[13] A: The name of the case -</p> <p>[14] MR. ZUCKER: It wasn't a patient,</p> <p>[15] was it, a patient of yours?</p> <p>[16] A: No.</p> <p>[17] Actually it was a deposition, not</p> <p>[18] the trial.</p> <p>[19] Q: (BY MR. SCOTT) That's all right.</p> <p>[20] A: The case is in Arkansas, but I gave the</p> <p>[21] deposition in Missouri. Maybe I gave it here.</p> <p>[22] Sometime within the past six months. But the case is</p> <p>[23] in Arkansas. The name is Lamondry.</p> <p>[24] Q: Do you have a record of it here in your</p>	<p>[1] months. In fact, one of my roles I was recently</p> <p>[2] appointed as the Director of Education for the house</p> <p>[3] staff and the medical students at this institution in</p> <p>[4] cardiovascular training, and at all other institutions</p> <p>[5] where I have been a faculty member I participated in</p> <p>[6] the selection of house staff and cardiology fellows.</p> <p>[7] For that matter and I am sure I will here participate</p> <p>[8] in the fellow selection. When it comes time to the</p> <p>[9] next round for house staff selection I will be in that</p> <p>[10] as well.</p> <p>[11] Q: When you say house staff, does that include</p> <p>[12] house doctors?</p> <p>[13] A: House staff particularly refers to interns</p> <p>[14] and residents who are in training in a formal program</p> <p>[15] at a teaching institution such as this.</p> <p>[16] In their role as house officers or</p> <p>[17] house staff they are here at night admitting patients</p> <p>[18] and taking care of emergencies. So they are serving</p> <p>[19] the role of house officers as well.</p> <p>[20] Q: The interns and residents do?</p> <p>[21] A: And sometimes fellows as well.</p> <p>[22] Q: Do you have house doctors, aside from</p> <p>[23] interns, residents and fellows, with whom you work?</p> <p>[24] A: At this institution?</p>

Page 13

[1] **Q:** Right.

[2] **A:** Do you mean who were hired specifically just
[3] to be here at night to take care of patients unrelated
[4] to their own personal patients?

[5] **Q:** Yes.

[6] **A:** No.

[7] **Q:** When you were in St. Louis at the university
[8] there, did you have house physicians practicing with
[9] you?

[10] **A:** Actually, they were both there at Barnes
[11] Hospital and here we have physicians who are hired as
[12] house physicians, but they all come from the house
[13] staff. They are interns, they are residents, and
[14] fellows who moonlight covering patients. We have some
[15] patients on the teaching service who the interns and
[16] residents have primary responsibility for and respond
[17] to emergencies and we also have house physicians who
[18] are, in our institution here and at my prior
[19] institution, who hire from within the teaching program
[20] and are paid extra to moonlight to cover. So I guess
[21] in a sense they are house physicians.

[22] **Q:** Do those interns and residents come from a
[23] program involving cardiology or the study of
[24] cardiology?

Page 14

[1] **A:** The interns and residents, they are in
[2] internal medicine. Cardiology fellows do.

[3] **Q:** Are there any particular qualifications that
[4] you require of the house staff in this institution -
[5] are you aware of the qualifications required of them?

[6] **MR. ZUCKER:** In general or in a
[7] specific area?

[8] **Q:** (BY MR. SCOTT) I am really talking about
[9] house physicians and I suspect you are not.

[10] **A:** I think when I use the term house physician,
[11] as I understand you to imply in your question, you are
[12] speaking of a physician who is in the hospital at
[13] night to respond to whatever responsibilities have
[14] been articulated in their job description, who are
[15] doing that as a service that they are being paid for
[16] as opposed to a part of a formal training program.

[17] So house staff, interns and
[18] residents is part of their formal training program
[19] every third or fourth night are on-call and they take
[20] admissions and they respond to emergencies. They get
[21] a salary for being an intern or resident, but they
[22] don't get any extra money for being on-call.

[23] Yet in many institutions, including
[24] this one and including others I have been at, there

Page 15

[1] were also opportunities for those physicians to
[2] moonlight. Some will do it in the emergency room,
[3] some will do it at other hospitals, but many will do
[4] it in house where they would cover certain services,
[5] certain patients and respond to whatever their needs
[6] would be.

[7] So they were house physicians and,
[8] of course, their qualifications generally would be
[9] that they would be at a certain level of training
[10] within their house staff program and, obviously, would
[11] have met the qualifications to be in that training
[12] program, either internal medicine or cardiology or
[13] both.

[14] **Q:** Can you approximate for me the number of
[15] times that you have actually testified in court?

[16] **A:** Twice.

[17] **MR. ZUCKER:** May I interrupt, John?

[18] **MR. SCOTT:** Sure.

[19] **MR. ZUCKER:** Your question prior to
[20] the last question was that the doctor's knowledge of
[21] the qualifications of the house officer. Do you want
[22] to get back there now that you have established what
[23] he means by house officer?

[24] **MR. SCOTT:** No, no.

Page 16

[1] **MR. ZUCKER:** You don't care to know
[2] the answer?

[3] **MR. SCOTT:** I care to know the
[4] answer. I thought I was given the sum of that answer.

[5] **MR. ZUCKER:** As to the
[6] qualifications?

[7] **Q:** (BY MR. SCOTT) And have both of those
[8] occasions been in Missouri?

[9] **A:** No. One was in Oregon and one was in
[10] Arkansas.

[11] **Q:** Are you teaching at this time, Doctor?

[12] **A:** Yes.

[13] **Q:** Will you tell me what you teach? What is the
[14] program? Describe the program that you are in.

[15] **A:** I am the Director of the Coronary Care Unit
[16] here at William Beaumont Hospital. I also have a lot
[17] of other activities and responsibilities; heart
[18] failure and cardiac transplantation and
[19] catheterization laboratory.

[20] And I mentioned I am Director of
[21] the Cardiovascular Teaching Program for the medical
[22] students and house staff. We have our own training
[23] program here at this institution, our own interns and
[24] residents in training and internal medicine. It's a

Page 17

[1] certified program. We have our own fellowship program
[2] in cardiology, in fact, a world renown one. We also
[3] have medical students who rotate through here on a
[4] very regular basis both from the University of
[5] Michigan as well as Wayne State University.

[6] And in my various capacities I am
[7] involved on a daily basis teaching in the coronary
[8] care unit, in my office across the way, cath lab,
[9] bedside consultation, formal didactic conferences, et
[10] cetera.

[11] Q: In the cases that you have reviewed,
[12] approximately what percentage have been on behalf of
[13] the plaintiff and for the defendant?

[14] A: Let's see, 65 percent plaintiff, 35 percent
[15] defendant.

[16] Q: How was it that you were contacted in this
[17] case?

[18] A: I was trying to sort that out with
[19] Mr. Zucker. He called me and he can't recall how he
[20] got my name and I don't think I really knew.

[21] Q: What are your fees for review?

[22] A: For the review of the records \$375 an hour.

[23] Q: And deposition?

[24] A: Five hundred dollars an hour.

Page 18

[1] Q: Testimony in court?

[2] A: Depends.

[3] Q: On what?

[4] A: Depends on where the extent of travel, how
[5] much time.

[6] Q: Do you have a set fee or an hourly basis?

[7] A: Not really. I haven't testified that many
[8] times to elicit a fee.

[9] Q: Will you tell me what you have reviewed in
[10] this case?

[11] A: I have reviewed records provided to me by Mr.
[12] Zucker. They include a compendium with most of the
[13] records, I assume, from the hospitalization of the
[14] patient at Meridia Hospital.

[15] MR. ZUCKER: May I interrupt?

[16] MR. SCOTT: Sure.

[17] MR. ZUCKER: Did you review
[18] everything that I sent you to some extent or another?

[19] A: Yes.

[20] Q: (BY MR. SCOTT) The letter to you of January
[21] 23, 1995, lists 20 sets of records. Did you review
[22] each of those items?

[23] A: I reviewed most of it.

[24] Q: Did you conduct any medical research in

Page 19

[1] connection with your opinions here?

[2] A: No.

[3] Q: Or any medical search of the literature?

[4] A: No.

[5] Q: Consult with anybody?

[6] A: No.

[7] MR. ZUCKER: Did you do any review
[8] relative to life expectancy, confer with any charts or
[9] tables?

[10] A: Do you mean with respect to both questions
[11] other than my ongoing review of the literature
[12] medicine over the past 20 years, nothing specific to
[13] this case. It is areas that I am familiar and an
[14] expert in.

[15] Q: (BY MR. SCOTT) I am sorry. What was the
[16] last part?

[17] A: These are all areas that I am not only
[18] familiar with but expert in.

[19] Q: What areas are you talking about?

[20] A: The acute myocardial infarction, thrombolytic
[21] therapy, heart failure.

[22] Q: Are you going to give an opinion in this case
[23] as to this patient's life expectancy?

[24] A: If asked.

Page 20

[1] Q: What is your opinion? Do you have an opinion
[2] at this time?

[3] A: Do you want to phrase that as a specific
[4] question in term of at what point, before he came
[5] into the hospital, before treatment, after treatment.

[6] Q: At the time that he came into the hospital.

[7] A: Before he received any treatment?

[8] Q: TPA treatment do you mean?

[9] A: You ask the question and I will try to answer
[10] it as best I can.

[11] Q: Before he received any TPA treatment.

[12] A: I can give you an opinion based on data
[13] available. It's clear from reviewing the records that
[14] this patient had had a prior myocardial infarction and
[15] had had some damage to the left ventricle. That we
[16] know from a review of the records. I believe it was
[17] 1986.

[18] At that time, whatever that date
[19] was, he had a scan that looked at his left ventricular
[20] function. That was 1986 where he had an ejection
[21] fraction of 35 percent, which I think at least
[22] provides some information, at least at that time.
[23] It's the only concrete information that we had that he
[24] had some damage, not end stage damage, but some.

Page 21

[1] Prom that, at least base an
[2] opinion, there is data to ballpark in the general
[3] population what life expectancies may be, depending on
[4] the level of impaired function of the left ventricle.

[5] Q: Are you able to quantitate the damage in '86?

[6] A: Well, based on that scan?

[7] Q: The ejection fraction?

[8] A: The ejection fraction normally ought to be
[9] about 50 or 55 percent or above. We know from heart
[10] failure studies that when the ejection fraction is
[11] less than 30 percent, that the survival rate over
[12] three years is limited, and that anywhere from 50 to
[13] 70 percent of the patient's will be dead over three
[14] years.

[15] Q: When you say these studies, will you
[16] reference them for me what you are taking about? For
[17] example, you just referenced a study that suggests
[18] that when the fraction gets below 30 percent, some 50
[19] to 75 percent of those patients will die within three
[20] years, if I understand correctly.

[21] A: That's correct.

[22] Q: Where does that information come from?

[23] A: The medical literature.

[24] Q: All right. Can you cite for me the

Page 22

[1] literature that you are taking about?

[2] A: Yes.

[3] Q: Go ahead. Would you.

[4] A: There's numerous studies. I can cite some of
[5] the most important. There is a first trial that
[6] looked at that was, particularly with respect to drugs
[7] that could then improve that somewhat, was that VA
[8] cooperative trial.

[9] The second big study was called the
[10] consensus trial. There have been many, many other
[11] trials in addition to that that have found - but
[12] those are probably the two most often quoted.

[13] Q: Are those references cited in the literature
[14] that you had published about that subject?

[15] A: Yes, I am almost certain they are, if not I
[16] would be happy to provide them to you.

[17] Q: Do you have an opinion in this case as to the
[18] likely life expectancy of this patient prior to
[19] treatment with TPA?

[20] A: I can give you opinions in the sense of
[21] describing things that we know. I can also describe
[22] things we don't know. I think that is the best you
[23] can do in this case.

[24] We know prior to this most recent

Page 23

[1] admission, during which he died, that he had a heart
[2] attack and he had some damage. It wasn't at the level
[3] of below 30 percent where he was at the highest
[4] potential mortality. We don't know what happened in
[5] the interim.

[6] Q: I was going to ask you about that. Is it
[7] likely that the patient worsened in some respect since
[8] '86?

[9] A: Impossible to know. Some patients get better
[10] even without interventions like angioplasty to open
[11] the artery, collateral blood flow and other healing
[12] forces may allow the ventricle to improve
[13] spontaneously.

[14] C: Let me just pause with you for a moment. I
[15] don't mean to interrupt. I just need to follow with
[16] you, if I can.

[17] Those patients who become better,
[18] are they generally patients who are younger than this
[19] patient?

[20] A: Not necessarily.

[21] Q: What are the factors that go into predicting
[22] if a patient will become better over the passage of
[23] time?

[24] A: It relates to the amount of initial

Page 24

[1] irreversible damage, and that's an issue in this case,
[2] because when the ejection fraction was done, it could
[3] have been early in the course of the infarction and
[4] some of the damage seemed, in fact, to be what we call
[5] stunned or hibernating muscle which could have
[6] completely recovered, to the extent irreversible
[7] damage, the location of the damage in terms of what
[8] portion of the heart, whether there are narrowings in
[9] other arteries, the progression of the hardening of
[10] the artery process. Many, many factors can
[11] contribute.

[12] Q: Do you describe those also in your
[13] publications that you have given in your CV?

[14] A: Many of them.

[15] Q: In this instance are you able to take each
[16] one of those factors and say how it relates in this
[17] case, for example, the extent of damage I take it that
[18] you don't really - that no one can really say as of
[19] '86; is that what you are saying?

[20] A: We can state what we know from the one scan
[21] done and everything else is speculation. Now it could
[22] be educated speculation. The fact that he survived as
[23] long as he did from the first heart attack makes it
[24] extremely unlikely that he experienced progressive

Page 25

[1] deterioration.

[2] Furthermore, if he experienced
[3] progressive deterioration, he most likely would have
[4] developed symptoms of heart failure, none of which I
[5] have been made aware of in my review of the records.
[6] So it's less likely that he had major deterioration.
[7] Whether or not he had improvement is hard to know.
[8] We never knew his coronary anatomy
[9] and how many other blood vessels were blocked. There
[10] was never any repeat evaluation. So anything else at
[11] this point is just guesswork.

[12] **Q:** You do you have any opinion as to whether he
[13] did improve or not from '86?

[14] **A:** No, other than what I have already stated.

[15] **MR. ZUCKER:** Do you mean his
[16] ejection fraction?

[17] **MR. SCOTT:** Right.

[18] **A:** Other than what I have already stated.

[19] **Q: (BY MR. SCOTT)** What would be the parameters
[20] of improvement besides ejection fraction? How does
[21] one say if there has been improvement?

[22] **A:** Well, it can be one of symptoms. If a
[23] patient is having symptoms and those resolve, it can
[24] be one of exercise capacity and how that resolves.

Page 26

[1] One thing in this patient's favor
[2] is that we know in patients who got substantial
[3] impairment of the left ventricular function, those who
[4] survived the first year or two tend to have selected
[5] themselves out as having the best prognosis.

[6] We know that from the end stage
[7] heart failure population. Although, those with
[8] ejection fraction of less than 30 percent have a high
[9] mortality. Even with that population he wasn't in
[10] that. But even within that population there is a
[11] subset that survives beyond a year and they seem to do
[12] relatively well compared to the others.

[13] So the fact that he survived more
[14] than a year after his initial infarction is another
[15] point in his favor, but, again, these are all kinds of
[16] circumstantial pieces of information and without
[17] actually having a study, an echocardiogram or a
[18] nuclear study or a catheterization, where you can
[19] actually have the information in your hand and say,
[20] there it is, this is quantitative data. There is no
[21] way you can just speculate,

[22] **Q:** Without that data it would be speculation in
[23] your view as to whether he improved? Did I state that
[24] correctly?

Page 27

[1] **A:** Yes. In terms of whether his left
[2] ventricular function improved, yes.

[3] **Q:** What brought the patient into the hospital,
[4] Doctor?

[5] **A:** He had chest pain.

[6] **Q:** What was the etiology in your mind - in your
[7] opinion, if you are able to give one?

[8] **A:** It is problem that it was due to ischemic
[9] heart disease, hardening of the arteries.

[10] **Q:** Did this patient have an MI shortly before
[11] this admission or during his admission or are you not
[12] able to give any opinion?

[13] **A:** As far as I could tell from my review of the
[14] records there was never any proof by myocardial
[15] enzymes that he actually had what we call myonecrosis
[16] or infarction. That really would be the ultimate
[17] defining piece of data.

[18] **Q:** Does that mean that he might have or he might
[19] not have, but we cannot say?

[20] **A:** If my records are complete, I could not find
[21] any evidence that he had abnormal myocardial enzymes,
[22] and he did not have a myocardial infarction.

[23] **Q:** Is it, then, your opinion to medical
[24] certainty that he did not have an MI when he came into

Page 28

[1] the hospital or during his stay or are you not able to
[2] go that far?

[3] **A:** Is that the same question? I am sorry. Is
[4] that the same question?

[5] **Q:** I think it is the same question.

[6] **A:** As far as I can -

[7] **Q:** What I think your answer was you saw no proof
[8] of it and I just wanted to make certain that - I just
[9] wanted to know one way or the other whether that means
[10] he did not or whether you really cannot say for
[11] certain.

[12] **A:** Well, there are really only two absolute
[13] pieces of data that confirm a myocardial infarction in
[14] a certain time frame. You can have an old myocardial
[15] infarction. It will show up on the
[16] electrocardiogram. You can have an acute myocardial
[17] infarction, in which case you can prove - infarction
[18] means death of tissue.

[19] When heart muscle dies, it releases
[20] a piece of muscle enzymes, we call, that you can
[21] measure in the blood. If you catch the chest pain
[22] syndrome in the right time frame, you will see an
[23] elevation of these enzymes in the blood so-called CK
[24] enzymes.

Page 29

[1] In somebody who presents with acute
[2] chest pain, as he did, we get multiple determinations
[3] of these enzymes, and if they rise, and if the portion
[4] that represents the heart is elevated, then we can say
[5] that there has been damage, irreversible damage, a
[6] necrosis or an infarction.

[7] What I am saying is that as far as
[8] the records I reviewed, I couldn't find any evidence
[9] that that had happened.

[10] Q: Is it possible that he had an infarction and
[11] that the damage had been done before he came in or
[12] that somehow the infarction began at a time when you
[13] no longer drew enzymes.

[14] A: It's possible, less likely, but possible.
[15] The other way to prove it would have been to have an
[16] autopsy to look for evidence of fresh infarction of
[17] heart muscle tissue itself.

[18] Q: Does that same analysis apply to the day
[19] after he was admitted when he re-experienced chest
[20] pain shortly prior to TPA, at the time TPA was given?

[21] A: Yes. The same tests have to be done to prove
[22] the presence or absence of infarction.

[23] Q: Does the ischemic pain suggest that the
[24] patient was becoming worse over the years from '86?

Page 30

[1] MR. ZUCKER: Would you repeat that
[2] question.

[3] Q: (BY MR. SCOT") Sure. Does the attack of
[4] ischemic pain - I think on the 20th - in any event,
[5] shortly prior to the time he was admitted, does that
[6] suggest that the patient was, that his coronary artery
[7] disease was progressing over the years?

[8] A: First of all, I am not clear that they have
[9] really proven that his chest pain was from his heart,
[10] because the central issue in this case - because when
[11] he was admitted - there are a lot of things that
[12] cause chest pain.

[13] Although given his history of a
[14] prior heart attack, it certainly is the highest on
[15] your lists of differential diagnoses that this is
[16] heart pain, ischemic pain. Because his initial
[17] electrocardiogram showed abnormalities that were
[18] essentially unchanged from his prior heart attack in
[19] 1986. Just because he came in with chest pain,
[20] doesn't mean that it was necessarily ischemic pain.

[21] So if he had had new EKG changes
[22] that were characteristic of ischemia, I would say,
[23] yes, he had ischemia. If he had documented infarction
[24] by elevated enzymes, I would say, yes, he had ischemic

Page 31

[1] pain. I think he probably was having ischemic pain,
[2] but I don't know that for sure.

[3] But then to answer your question
[4] does the development of ischemic pain, if that's what
[5] he was having, some years after a prior heart attack
[6] with an interval in between without having chest pain,
[7] does that mean that his hardening of the arteries is
[8] getting worse, and the answer is almost certainly.

[9] Q: Any way to quantitate that or is that just
[10] pure speculation?

[11] A: There are ways to quantitate it. We don't
[12] have any way to quantitate it in this case, but there
[13] are many ways to quantitate it.

[14] Q: We were talking about whether you have an
[15] opinion to a reasonable degree of medical certainty as
[16] to this patient's life expectancy. I am not certain
[17] that we had come down on that question.

[18] We talked about what goes into it.
[19] I am not saying that you ought to have an opinion. I
[20] am just wondering if you do and, if so, could you tell
[21] me.

[22] A: I have an opinion, but I think within the
[23] frame work of your question, can I answer it with a
[24] degree of medical certainty, I am not so sure I can do

Page 32

[1] that because of the absence of any modern data
[2] relevant to this patient's demise.

[3] I am really basing it on what
[4] happened several years prior when he had his initial
[5] heart attack and really what his status was when he
[6] died, which would have been relevant to his future
[7] survival is unknown. So the opinions are based on
[8] speculation as I have articulated. I can't give you
[9] an opinion with any medical certainty as to what his
[10] survival would have been.

[11] Q: Even without that degree, within your
[12] speculation, so to speak, do you have a time frame in
[13] mind?

[14] MR. ZUCKER: I would put an
[15] objection on the record, John, for obvious reasons.
[16] Go ahead and answer the question, Doctor, if you can.

[17] A: Yes. Again, with all the limitations and
[18] conditions that I put on it -

[19] Q: (BY MR. SCOTT) If it's just too speculative,
[20] if you just don't ascribe any weight to it then that's
[21] fine.

[22] A: Then let's leave it.

[23] Q: Okay.

[24] What information would you have

Page 33

[1] liked to have - I think we have gone over that just a
[2] little bit - but to assess whether and the extent to
[3] which this patient worsened since '86?
[4] **MR. ZUCKER:** Did you understand the
[5] question?
[6] **A:** Yes, I think so.
[7] **MR. ZUCKER:** I am going to put an
[8] objection on the record to that question. Go ahead.
[9] **A:** If I understand what you are getting at, the
[10] key piece of information is to know how well the pump
[11] is pumping and you can obtain that information from an
[12] echocardiogram or nuclear studies. And biologically
[13] with young patients most modern cardiologists would
[14] perform a coronary angiogram to get information on how
[15] many arteries were narrowed, how severe the narrowings
[16] are, how much muscle was supplied by those
[17] narrowings.
[18] Depending on those findings, in
[19] addition a stress test with or without a perfusion
[20] study would give information on the flow limitation to
[21] muscle that is still alive, a combination of that
[22] information about the muscle and the vessels and the
[23] flow and how much is dead or alive really allows you
[24] to not only have some idea of what is in store for the

Page 34

[1] patient for the future, but then also guides you for
[2] therapy.
[3] **Q:** (BY MR. SCOTT) Are you able to give an
[4] opinion to a reasonable medical certainty as to any of
[5] those factors in this case?
[6] **A:** NO.
[7] **Q:** Where a patient has a 35 ejection fraction,
[8] is that patient likely to improve with the ejection
[9] fraction?
[10] **MR. ZUCKER:** Over years?
[11] **MR. SCOTT:** Yes.
[12] **A:** Likely, meaning more probably than not.
[13] **Q:** (BY MR. SCOTT) Sure.
[14] **A:** Not more probably than not.
[15] **Q:** Is that patient likely to worsen then?
[16] **A:** Some do, some don't. Again, not more
[17] probably than not. There are many different courses
[18] in it. It depends on a lot of factors that I
[19] articulated in term of location and how much of that
[20] was really irreversible narrowings in other blood
[21] vessels, development of collaterals, changes that
[22] develop over time like blood pressure, diet,
[23] medicines, many factors.
[24] **Q:** Do you expect that as of time this patient

Page 35

[1] entered the hospital his ejection fraction was less
[2] than 30, or 30 or less?
[3] **A:** No.
[4] **Q:** No opinion one way or the other, no way to
[5] say one way or the other?
[6] **A:** I expect it's not. He did not have symptoms
[7] of congestive heart failure. He did not have physical
[8] exam findings of congestive heart failure. There was
[9] no evidence that I am aware of by physical exam or by
[10] the chest x-ray that he had enlargement of the heart
[11] or congestive heart failure.
[12] So all those are strongly against
[13] his ejection fraction of being less than 30 percent.
[14] **Q:** Is there information that, or studies that
[15] would suggest the life expectancy of this patient as
[16] he appeared in '86 with an ejection fraction of 35?
[17] **A:** I am sorry?
[18] **Q:** Can one go back to 1986 and take this man's
[19] ejection fraction and place this man in a category at
[20] that point in time as to his life expectancy?
[21] **MR. PUCKER:** In other words, those
[22] studies that the doctor is referring to above and
[23] beyond the 30 percent ejection fraction, Arthur
[24] Grasgreen in 1986, 35 percent ejection fraction at

Page 36

[1] that time what this life expectancy; do you have an
[2] opinion?
[3] **Q:** (BY MR. SCOTT) Are you able to say based
[4] upon that information alone or the information that we
[5] know about the fellow in '86?
[6] **A:** No. Other than to say obviously he did
[7] reasonably well. He certainly survived until - the
[8] date of his admission is May of '93. So that's seven
[9] years, which does say something with regard to lack of
[10] progression.
[11] **Q:** Will you tell me your understanding of the
[12] nurse's request that was made to Dr. Chentow I believe
[13] on May 21st - in any event, the same evening that the
[14] patient was given TPA?
[15] **MR. ZUCKER:** Do you understand that
[16] question?
[17] **A:** Yes. My understanding is that that Dr.
[18] Chentow in his role as house physician was called to
[19] evaluate an EKG in a patient who was having acute
[20] chest pain.
[21] **MR. ZUCKER:** Was that your
[22] question?
[23] **MR. SCOTT:** I think so.
[24] **Q:** (BY MR. SCOTT) Essentially, I am asking you,

Page 37

[1] Doctor, what your understanding is as to why Dr.
[2] Chentow was called.
[3] **A:** My understanding is that the nurse recognized
[4] that he had a patient in coronary care unit with
[5] history of ischemic heart disease, who was having
[6] recurrent chest pain, who had been admitted to rule
[7] out myocardial infarction, who was having recurrent
[8] chest pain, and had an EKG that, I assume, disturbed
[9] the nurse, who called the house physician to evaluate
[10] this patient who was having recurrent chest pain to
[11] **Book** at the EKG.
[12] **Q:** Is it your understanding that Dr. Chentow was
[13] called to evaluate the patient or is it your
[14] understanding that Dr. Chentow was asked by the nurse
[15] to read the EKG for the nurse?
[16] **A:** My understanding is that Dr. Chentow is the
[17] house physician and the fundamental rule, if not the
[18] primary rule, of a house physician at every
[19] institution that I ever worked in, and I think Dr.
[20] Chentow himself admitted in his deposition that the
[21] ~~pr~~ primary role is to respond to emergencies.
[22] Chest pain is potentially an
[23] emergency. Chest pain in a patient in a coronary care
[24] unit is a potential emergency. So looking at an

Page 38

[1] electrocardiogram - we don't admit electrocardiograms,
[2] take care of electrocardiograms. We take care of
[3] patients. We use electrocardiograms to help us assess
[4] patients who are having problems.
[5] So Dr. Chentow was house
[6] physician. He was called to assess a patient, not an
[7] electrocardiogram. He may have been given an
[8] electrocardiogram as the first introduction to the
[9] patient, but no matter what that electrocardiogram
[10] showed, his job was to assess the patient, not the
[11] electrocardiogram.
[12] **Q:** Is it your understanding that the nurse had
[13] called or paged Dr. Van Dyke as of the time Dr.
[14] Chentow was asked to read the EKG?
[15] **A:** I don't know what the timing of page of
[16] Dr. Van Dyke was relative to the page of arrival or
[17] discussion with Dr. Chentow.
[18] **Q:** Is it important in assessing the care
[19] rendered by Dr. Chentow as to whether the nurse was in
[20] contact or attempting to reach Dr. Van Dyke at the
[21] time that Dr. Chentow is called?
[22] **A:** It depends.
[23] **Q:** Go ahead, Doctor.
[24] **A:** If the house physician is called to evaluate

Page 39

[1] an EKG in a patient who has whatever problem and his
[2] doctor **is** down stairs and is going to be up in two
[3] minutes and the EKG is normal and the patient is doing
[4] fine, that might be one circumstance.
[5] If the patient is having chest pain
[6] and the electrocardiogram is strikingly abnormal, it
[7] is the job of the house physician, any physician, even
[8] just walking by not the house physician, any physician
[9] who is asked to be involved in the case when there is
[10] an emergency - and chest pain **is** an emergency, and
[11] chest pain with an abnormal electrocardiogram is an
[12] emergency - to assess is this something that I need
[13] to deal with and to what level do I need to deal with
[14] this.
[15] That is something we decide day in
[16] and day out for all kinds of problems, whether it is a
[17] common cold or cardiac arrest, what is my role in this
[18] case, what is my responsibility as a physician be it
[19] contractual or ethically. Ethically and contractually
[20] actually for house physician, when he's called to
[21] evaluate a patient with chest pain, it is to assess,
[22] what is the patient's status this second.
[23] I am here. I am in this room. I
[24] am here to evaluate this patient's EKG and therefore

Page 40

[1] the patient. I **look** at the EKG. Even if the EKG was
[2] normal, I want to know how is this patient doing. And
[3] I don't task the nurse what the vital signs are and how
[4] the patient looks. That's my job. I am a doctor.
[5] Patients don't come into hospitals
[6] to be taken care of by nurses, although nurses are
[7] wonderful and incredible, they come in to be taken
[8] care of by doctors; to assess the case, to assess the
[9] patient, review relevant records, salient and relevant
[10] to the particular issue they are dealing with and then
[11] make a decision as to what role they need to play.
[12] If the patient is totally stable
[13] and the EKG is unimpressive and the patient is fine
[14] and their physician is arriving and going to be there
[15] in two minutes, say, fine, I am going to be down the
[16] hall. If Dr. so-and-so doesn't arrive in a few
[17] minutes and you need me, call me. Or, gee, if Dr. Van
[18] Dyke hasn't called back and this patient is having
[19] chest pain and has an abnormal electrocardiogram, I've
[20] got to examine the patient, I've got to review the
[21] records, I need to talk to Dr. Van Dyke and he is not
[22] available, I need to start to treat this patient.
[23] Now, tell me what the question was.
[24] **Q:** Well, if the nurse is in touch with Dr. Van

Page 41	Page 43
<p>[1] Dyke or is about to be in touch with Dr. Van Dyke and</p> <p>[2] Dr. Chentow and the nurse has told Dr. Chentow that he</p> <p>[3] has placed a call to Dr. Van Dyke and expects the</p> <p>[4] return call, does that make a difference in the duties</p> <p>[5] that you ascribe to Dr. Chentow?</p> <p>[6] MR. ZUCKER: Object to the</p> <p>[7] question. You can answer.</p> <p>[8] A: Could you rephrase that or say it again.</p> <p>[9] Q: (BY MR. SCOTT) Does it make a difference in</p> <p>[10] what you expect of Dr. Chentow if Dr. Van Dyke either</p> <p>[11] is on the phone or is called within moments of the</p> <p>[12] EKG?</p> <p>[13] A: I am not sure I can answer that question in a</p> <p>[14] direct fashion, not to be coy about it, but the house</p> <p>[15] physician's role is to assume the care of a patient</p> <p>[16] who is having an emergency until and unless they are</p> <p>[17] relieved of that responsibility by the attending</p> <p>[18] physician.</p> <p>[19] Q: If the attending physician is on the</p> <p>[20] telephone with the nurse, does that make a difference</p> <p>[21] to you?</p> <p>[22] A: If the attending physician is on the phone,</p> <p>[23] then why bother to call Dr. Chentow or if the</p> <p>[24] attending physician is on the phone and says Dr.</p>	<p>[1] the nurse made. I wasn't focused on much detail on</p> <p>[2] the nurse's role. But I think what is really crucial</p> <p>[3] here is that those are not nursing responsibilities.</p> <p>[4] Those are physician responsibilities.</p> <p>[5] Certainly the nurse should make an</p> <p>[6] initial assessment while they are waiting for the</p> <p>[7] physician to arrive. And if this is a hospital that</p> <p>[8] doesn't have house physicians, they should call the</p> <p>[9] emergency room physician or any other attending</p> <p>[10] physician in the hospital to respond to an emergency</p> <p>[11] just as you would with a cardiac arrest, and get a</p> <p>[12] hold of the individual patient's attending physician.</p> <p>[13] This patient needed a physician. That's the problem</p> <p>[14] in this whole case.</p> <p>[15] Q: (BY MR. SCOTT) When Dr. Van Dyke was called,</p> <p>[16] that is, when he was on the phone, regardless of what</p> <p>[17] precise time that was, does that constitute the</p> <p>[18] patient at that point having a physician, in your</p> <p>[19] words?</p> <p>[20] MR. ZUCKER: Object to the form of</p> <p>[21] the question.</p> <p>[22] Q: (BY MR. SCOTT) Do you understand what I</p> <p>[23] mean?</p> <p>[24] A: I understand it. I think it's - if that is</p>
Page 42	Page 44
<p>[1] Chentow is not needed, then that is a different</p> <p>[2] situation.</p> <p>[3] Q: Let me ask you this: The assessment you</p> <p>[4] wanted Dr. Chentow to make I understand, I think, in</p> <p>[5] this instance is there any information that was not</p> <p>[6] properly assessed by the nurse in terms of the</p> <p>[7] patient's clinical status?</p> <p>[8] MR. ZUCKER: Object. Could you be</p> <p>[9] more specific. Do you understand the question?</p> <p>[10] MR. SCOTT: Sure.</p> <p>[11] MR. ZUCKER: If he understands the</p> <p>[12] question, I will let him answer it. Do you understand</p> <p>[13] the question?</p> <p>[14] MR. SCOTT: Let me be more</p> <p>[15] specific.</p> <p>[16] Q: (BY MR. SCOTT) The patient's vital signs,</p> <p>[17] the patient's extent of pain, patient's complaints,</p> <p>[18] any other assessments that you would have like to have</p> <p>[19] made, is there anything - any of those, to your</p> <p>[20] knowledge, that were not properly assessed by the</p> <p>[21] nurse and given to Dr. Van Dyke?</p> <p>[22] MR. ZUCKER: Object to the form of</p> <p>[23] the question. Go ahead.</p> <p>[24] A: I am not sure how complete of an assessment</p>	<p>[1] a definition of having a physician, it is a pathetic</p> <p>[2] definition of a having a physician.</p> <p>[3] Q: All right. I understand.</p> <p>[4] Going back to my prior question -</p> <p>[5] let me put that question in a different way. Was</p> <p>[6] there information that should have been communicated</p> <p>[7] to Dr. Van Dyke about the patient's vital signs, about</p> <p>[8] his extent of pain, about his complaints, that you</p> <p>[9] believe was not communicated?</p> <p>[10] A: Yes. What should have been communicated is a</p> <p>[11] full assessment by a physician.</p> <p>[12] Q: What would that be?</p> <p>[13] A: A salient history, physical exam and a review</p> <p>[14] of the relevant medical records.</p> <p>[15] Q: Now, the history, presumably, would be known</p> <p>[16] to the patient's attending cardiologist, would it not?</p> <p>[17] A: Hopefully it would, although if someone was</p> <p>[18] covering it might not. I think the issue is that when</p> <p>[19] you are called for an emergency and you are the first</p> <p>[20] physician there, you don't know whether somebody else</p> <p>[21] is going to be there to take over for you physically</p> <p>[22] in 30 seconds, three hours or never.</p> <p>[23] And your responsibility is to</p> <p>[24] assume the responsibility for that patient until you</p>

Page 45

[1] have been relieved by that individual's attending
[2] physician. So in that regard, you need to act as a
[3] physician, which means to get as much information in
[4] the time frame as you can to be able to begin to
[5] manage that patient to help them, which means a
[6] focused history, physical exam, and a review of
[7] records pertinent to the problem and within the time
[8] frame of an emergency to make decisions to do the
[9] right things.

[10] **Q:** Was there treatment that should have been
[11] given before Dr. Van Dyke was called?

[12] **MR. ZUCKER:** Was there any
[13] treatment other than TPA that should have been
[14] administered?

[15] **MR. SCOTT:** No. Let me go back.

[16] **Q:** (BY MR. SCOTT) Before Dr. Van Dyke was
[17] called and within the time frame where Dr. Chentow is
[18] asked by the nurse to look at the EKG is there some
[19] treatment that you believe Dr. Chentow should have
[20] given to this patient?

[21] **A:** My understanding is the patient was already
[22] on aspirin, heparin and nitroglycerin. I would have
[23] to review the records to see whether the patient was
[24] on a beta blocker or calcium channel blocker.

Page 46

[1] **Q:** If the patient were not, what would be your
[2] answer then?

[3] **A:** Depending on the doses of the medicines, and
[4] I don't have those in my mind, I would have to review
[5] and I would be happy to do that if you would like to
[6] me to, to see whether further adjustments of the
[7] medicines that the patient was already on, such as
[8] nitroglycerin, would have been appropriate, whether
[9] administration of other drugs would have been
[10] appropriate.

[11] I think the key thing that Dr.
[12] Chentow could have done would have been not only to
[13] examine the patient, but to bother to look at the
[14] medical records and the old EKG to see that the
[15] patient had a prior myocardial infarction in 1986, to
[16] see that the patient had a prior EKG on his admission,
[17] and the EKG he was having when Dr. Chentow was called
[18] really was unchanged from the prior one and that this
[19] was not an acute myocardial infarction.

[20] **Q:** Do I understand correctly that to your
[21] knowledge at this time there was no treatment that Dr.
[22] Chentow should have given to the patient?

[23] **MR. ZUCKER:** Object. That is not
[24] what he said. He said he was there to evaluate

Page 47

[1] whether to increase the medication that he was already
[2] taking among other things.

[3] **Q:** (BY MR. SCOTT) I am not going to argue with
[4] you certainly, because you are the expert, but I just
[5] want to know if you believe if Dr. Chentow should have
[6] gone in and given X, Y and Z of treatment.

[7] **A:** I would have to go back into the records and
[8] look at some specific aspects of the nurse's notes,
[9] look at the vital signs and the medication chart to
[10] see whether at that precise time there were any
[11] additional medicines that should have been adjusted or
[12] initiated.

[13] **Q:** Doctor, I think we ought to do that as a
[14] matter of fact, because if that will be your opinion
[15] at trial, then I need to know.

[16] **MR. ZUCKER:** I just want to raise
[17] this point, John, that the treatment rendered
[18] between - the treatment rendered between the time of
[19] the TPA working backwards to when Dr. Chentow came in
[20] is not an issue in this case. It's the TPA, the
[21] administration of the TPA.

[22] We are not criticizing - my
[23] understanding is the doctor is not criticizing Dr.
[24] Chentow's administration of medication and so forth,

Page 48

[1] because he didn't treat the patient. He didn't walk
[2] in and physically treat the patient so why are you
[3] asking this.

[4] He just told you that the problem
[5] was that he didn't come in and treat the patient and
[6] you want to ask him questions about whether other
[7] things should have been done by Dr. Chentow. Is that
[8] my understanding?

[9] **MR. SCOTT:** I just want to know
[10] every opinion and criticism that this doctor will
[11] have.

[12] **A:** I wasn't going to bring it up if you weren't.

[13] **MR. SCOTT:** That's fine.

[14] **MR. ZUCKER:** Go for it.

[15] **Q:** (BY MR. SCOTT) My understanding then - may
[16] we simply say -

[17] **MR. ZUCKER:** Off the record.

[18] (Discussion held off the record).

[19] **Q:** (BY MR. SCOTT) I am just wondering, for
[20] example, if you are going to testify at trial that
[21] when Dr. Chentow came to see this patient, he should
[22] have given the patient some particular medication or
[23] some particular treatment?

[24] **MR. ZUCKER:** He's testified as to

Page 49

[1] what treatment. He said he should have gone in there,
[2] reviewed the chart.

[3] **MR. SCOTT:** I view that differently
[4] than treatment.

[5] **Q:** (BY MR. SCOTT) I understand review the
[6] chart. I am talking about treatment with medication,
[7] for example, of some other therapy.

[8] **A:** Just to reiterate what I said before, I would
[9] have to go back into the records and review that
[10] specific time to see what medications he was on, the
[11] doses, what the vital signs recordings were at that
[12] time to make an opinion as to whether anything
[13] different should have been done at that moment
[14] therapeutically.

[15] **Q:** May I ask you to do that some point in time?

[16] **MR. ZUCKER:** I will agree to that.

[17] **Q:** (BY MR. SCOTT) Now, Doctor, is it your
[18] understanding that Dr. Chentow was qualified to treat
[19] coronary patients?

[20] **A:** Yes, on a certain level.

[21] **Q:** Tell me at what level?

[22] **A:** I should back up and say I don't have, in my
[23] mind, Dr. Chentow's background, but I assume he was
[24] not a board certified or trained cardiologist, but he

Page 50

[1] was a house physician.

[2] Any licensed physician ought to be
[3] able to handle the basic emergency of a chest pain, an
[4] acute myocardial infarction on a certain level. I
[5] wouldn't expect him to be able to do a cardiac
[6] catheterization.

[7] **Q:** Would you expect him to be able to administer
[8] TPA?

[9] **A:** Yes.

[10] **MR. ZUCKER:** Do you mean to
[11] prescribe TPA?

[12] **MR. SCOTT:** Yes.

[13] **A:** Yes.

[14] **Q:** (BY MR. SCOTT) Why do you say so?

[15] **A:** TPA is probably most widely given by
[16] physicians who are working in primary care settings,
[17] emergency rooms. Those are not necessarily emergency
[18] room trained specialists.

[19] There are most frequently around
[20] this country still folks who have background in
[21] primary care and no further specialty training other
[22] than that.

[23] For any physician who is going to
[24] serve in the responsibility as a house physician and

Page 51

[1] going to respond to emergencies, of which chest pain
[2] is an extremely common one and acute myocardial
[3] infarction is an extremely common one, ought to be
[4] qualified to make a decision regarding the
[5] indications, benefits and risks of administering
[6] thrombolytic therapy for acute myocardial infarction.

[7] **Q:** Is that a subject matter that would require
[8] keeping current on the literature?

[9] **A:** Obviously the more current you are in the
[10] literature, the more knowledgeable, but it's a basic
[11] level of knowledge that a modern physician should
[12] possess.

[13] **Q:** If in fact a doctor does not possess that
[14] information, does not treat with TPA, do those facts
[15] in and of themselves mean that that doctor is not
[16] qualified to be a house physician?

[17] **MR. ZUCKER:** Object. Irrelevant.

[18] Go ahead.

[19] **Q:** (BY MR. SCOTT) You may answer.

[20] **A:** For adult medicine, yes. If it was a house
[21] physician taking care of a pediatric ward, perhaps
[22] not. If he was an obstetrician who was covering an
[23] obstetrical ward, perhaps not, but they would have a
[24] house physician who covered general medicine available

Page 52

[1] who could respond.

[2] So, yes, a house physician who has
[3] general medical responsibilities for adult medicine is
[4] responding to emergencies such as pulmonary edema, as
[5] Dr. Chentow listed in his deposition as a primary
[6] responsibility as a type of emergency response to
[7] myocardial infarction falls in the same category.

[8] In fact, pulmonary edema is a
[9] fairly common complication of myocardial infarction
[10] so, yes.

[11] **Q:** In the world of house physician standards and
[12] requirements of house physicians by community
[13] hospitals, are you familiar with what a hospital
[14] requires and what the standards of care require for
[15] the qualifications of a house physician?

[16] **MR. ZUCKER:** Object to form. Go
[17] ahead.

[18] **A:** I think you would have to specify which
[19] hospital you are referring to or if you are referring
[20] to published national criteria.

[21] **Q:** (BY MR. SCOTT) Let's take this hospital, I
[22] mean in this instance, are you familiar with that
[23] hospital and its departments and sizes and
[24] requirements for house physicians?

Page 53

[1] A: I don't know what their requirements and
[2] regulations are.
[3] Q: What about published standards?
[4] A: I am not aware of whether there are published
[5] standards for that particular issue.
[6] Q: When we are talking about published
[7] standards, are there published standards that require
[8] a house physician to be able to administer TPA and be
[9] able to take care of coronary patients, to your
[10] knowledge?
[11] A: I don't know.
[12] Q: You would assume that the attending doctor,
[13] the attending cardiologist, and his covering
[14] cardiologist would be familiar with the patient's
[15] medical records as well; is that fair to say?
[16] A: I would assume that the attending
[17] cardiologist should be familiar with the patient's
[18] history. To what extent the covering cardiologist is
[19] aware of the details is going to vary depending on
[20] many factors.
[21] Q: The EMG of 1986, are you aware as to whether
[22] that is contained - was contained in the chart at the
[23] time?
[24] MR. ZUCKER: In what chart, his

Page 54

[1] hospital chart, or the chart from that administration
[2] that I received copies of or that you received copies
[3] of? Which chart are you referring to?
[4] MR. SCOTT: The chart available in
[5] the medical - the coronary intensive care unit at the
[6] time that Dr. Chentow responded.
[7] A: I don't know what was in the chart at that
[8] time.
[9] Q: (BY MR. SCOTT) Would you expect the '86 EKG
[10] to be there?
[11] MR. ZUCKER: Object.
[12] A: I would expect that there would be a notation
[13] as to whether or not the EKG changes that were seen at
[14] any trace, whether new or old, whether that was the
[15] hard copy of the EKG that was in the chart, whether
[16] you had to go search for it, whether you had to call
[17] and have it FAX'd, which we do all the time when we
[18] have somebody come in for an EKG and we don't know
[19] whether the changes are new or old. We search.
[20] We ask, have you ever had an EKG
[21] before, have you had a heart attack, have you had a
[22] catheterization, have you ever had surgery, have you
[23] had EKGs, when and where, and we search for them in
[24] our own hospital. We call up and have them FAX'd to

Page 55

[1] make comparisons.
[2] So noting whether or not changes
[3] are new or old is critical. Each point in time when a
[4] physician is reviewing an EKG and sees abnormalities,
[5] the first question must be with respect to the
[6] ischemic heart disease, are these changes new or old
[7] and do I have a prior trace to know whether these are
[8] new or old or what their vintage is.
[9] Q: (BY MR. SCOTT) So you would expect the chart
[10] to contain either the EKG or a statement as to its
[11] content, that is, the EKG of 1986, as of the time that
[12] Dr. Chentow was called to see the patient?
[13] MR. ZUCKER: Or the fact that he
[14] had a heart attack in 1986, isn't that what you said,
[15] among other things? A reference to a previous heart
[16] attack, is that your question initially?
[17] MR. SCOTT: No.
[18] A: At each point where there's an EKG and
[19] there's changes, it should be related to whether there
[20] were prior changes and whether those changes are new.
[21] Again, whether or not the hard copy is in the chart
[22] may be optimal but less critical.
[23] Q: (BY MR. SCOTT) What physical examination
[24] would you have expected Dr. Chentow to perform when he

Page 56

[1] was called? I thought you had mentioned that you
[2] wanted the doctor to do a history and look at the
[3] medical records and to do a physical examination?
[4] A: Do you want me to go through the specific
[5] steps or just the general focus?
[6] Q: Generally first.
[7] A: As I stated before, in situations like this
[8] one does a thorough, but focused physical exam. So it
[9] would have been looking at the general appearance,
[10] whether the patient had abnormal mental status,
[11] whether the patient was short of breath, what level
[12] pain the patient was having, would have examined the
[13] vital signs carefully himself, not relying on rumor.
[14] Q: Rumor you said?
[15] A: Rumor or word of mouth or what is written
[16] down on the chart. It is the physician's
[17] responsibility to look at the patient, to measure the
[18] blood pressure or look and see if it is on a monitor,
[19] to either measure the pulse rate or look at it on the
[20] monitor at that time to measure the respiratory rate,
[21] to then examine, particularly with respect to
[22] cardiovascular abnormalities, looking at how the pump
[23] is doing, is the skin warm and pink with good flow or
[24] is it clammy and cool and bluish.

Page 57

[1] Q: Is that what you mean by looking at how the
[2] pump is doing?
[3] A: That is one reflection of it on physical
[4] exam. By listening to the lungs to see whether they
[5] are dry or not, whether there is evidence of fluid, to
[6] look at the neck veins as a reflection of feeling
[7] pressure on the right side of the heart, to feel the
[8] pulses in the carotid to get a feel for how severely
[9] the pump may be impaired, to feel the chest wall to
[10] see how enlarged the chambers may be, to listen to the
[11] heart tones to see whether there are abnormalities
[12] that reflect problem relating to heart attacks new
[13] and old and those sorts of things.
[14] Q: As to all those items is there any reason you
[15] have to believe that that information was not properly
[16] communicated to Dr. Van Dyke by the nurse?
[17] A: That is not the kind of assessment that a
[18] nurse is trained nor expected to do. It is a
[19] physician's job both to perform the examination and to
[20] communicate, which is another major issue in this
[21] case, quite frankly. This whole issue of - excuse me
[22] for using the term rumor, but let's say indirect
[23] communication.
[24] I mean this patient had chest pain,

Page 58

[1] got a potent clot dissolving drug and was never seen
[2] by a physician during the time of this episode of
[3] recurrent chest pain.
[4] Q: Now, I want to only - I don't mean to
[5] interrupt.
[6] A: As far as I know.
[7] Q: I understand. I don't want to interrupt you,
[8] but I want to speak only prior to the TPA
[9] administration.
[10] A: I am sorry. Ask the question again.
[11] Q: I am referring only prior to the time period
[12] only prior to the TPA administration.
[13] A: Then focus the question again for me. I am
[14] sorry.
[15] Q: Is there any reason for you to believe these
[16] factors which you identified in the physical
[17] examination including all the vital signs, the
[18] appearance of the patient, the skin and the way the
[19] pump was working, any reason for you to believe that
[20] those factors, that information was not properly given
[21] and correctly given to Dr. Van Dyke by the nurse?
[22] A: Well, I am convinced that that information
[23] wasn't properly communicated because it wasn't
[24] obtained by a physician.

Page 59

[1] Q: What was missing
[2] A The physician at the bedside examining the
[3] patient.
[4] Q: I understand your position. What information
[5] was missing?
[6] A: The entire assessment; the history, the
[7] physical exam, review of the medical records,
[8] synthesis as to the assessment and the plan for the
[9] patient communicated physician to physician, that was
[10] what was missing.
[11] Q: Doctor, have you seen, in your experience,
[12] where a nurse, maybe someone else in the hospital,
[13] asks a physician in passing what an EKG reflects?
[14] A: Yes.
[15] Q: And in those circumstances - strike that.
[16] Have you also seen it where the EKG
[17] is from a patient who has a cardiac history and is in
[18] pain and where the nurse is asking the house physician
[19] to say what an EKG reflects?
[20] A: Just as a, oh, by the way is this interesting
[21] or, gee, I need some help with this patient?
[22] Q: No. More of an inquiry - more of a request
[23] as to what does this EKG say, what is contained in the
[24] EKG?

Page 60

[1] MR. ZUCKER: Just to set the record
[2] straight, the question is do you know of any instances
[3] where a person who has a cardiac history, where a
[4] nurse asks a doctor walking by in the hospital to
[5] interpret an EKG, correct?
[6] MR. SCOTT: Walking by isn't quite
[7] - let me put it this way.
[8] MR. ZUCKER: Are you referring to
[9] the curb side consultation that Dr. Lack talks about
[10] in his report.
[11] MR. SCOTT: Let me ask it that way.
[12] MR. ZUCKER: All right.
[13] Q: (BY MR. SCOTT) You have seen Dr. Lack's
[14] report where he talks about a curb side opinion,
[15] something like that? I mean have you seen that occur
[16] in your career?
[17] A: I think that most sophisticated and wise
[18] physicians are extremely wary of curb side
[19] consultations precisely for the reasons I think you
[20] are driving at, which is if you are walking down a
[21] hallway and someone says, here, I've got something
[22] interesting to show you, isn't that fascinating to
[23] peak your interest and to educate you, yes, that is
[24] fine, but that is not what we are talking about here.

Page 85

[1] physically possible, not to do it by indirect
[2] communication through a nurse, not to treat a patient
[3] by a protocol and not to then further assess the
[4] patient by a FAX machine.
[5] Q: Doctor, you were called in this case in
[6] January of this year; is that correct?
[7] A: Yes.
[8] Q: You have a list here of documents that you
[9] reviewed. Included in the list was reports of two
[10] other experts retained by the Plaintiff. Did you see
[11] those?
[12] A: Yes.
[13] Q: Both of those individuals were cardiologists?
[14] A: Yes.
[15] Q: Do you know those individuals or either of
[16] them?
[17] A: I know Dr. Ader.
[18] Q: I am not talking about Dr. Ader.
[19] Do you know Dr. Ader personally?
[20] MR. ZUCKER: He wants to know about
[21] my experts.
[22] I want to ask what possible
[23] relevance does that have to anything that is happening
[24] here?

Page 86

[1] I object. You can answer if you
[2] want.
[3] Q: (BY MR. JACKSON) Do you know Dr. Ader?
[4] A: Yes.
[5] Q: How do you know Dr. Ader?
[6] A: He was a fellow in the same cardiology
[7] program I trained at in 1978.
[8] Q: You were fellows together in that program; is
[9] that it?
[10] A: He was senior to me. I was in the research
[11] laboratory and he was a clinical fellow.
[12] Q: Do you know Dr. Ader to be a good, qualified
[13] cardiologist?
[14] A: I knew him superficially 17 years ago when he
[15] was just finishing his fellowship, so I would have no
[16] opinion as to how he practiced cardiology since he
[17] left his training.
[18] Q: How was he at that time from your
[19] understanding?
[20] A: Good.
[21] Q: He was a competent physician?
[22] A: Yes.
[23] Q: You disagree with his opinions in this case?
[24] A: Some of them.

Page 87

[1] Q: Which ones?
[2] MR. ZUCKER: Object. Let's get the
[3] report out and let's not try memory exercises here.
[4] Q: (BY MR. JACKSON) You disagree with Dr
[5] Ader's opinions as it relates to Dr. Van Dyke?
[6] A: Yes.
[7] Q: Now there are two other - in terms of the
[8] information that you reviewed in preparation for your
[9] opinions in this case, there were two reports.
[10] MR. ZUCKER: Dr. Ross and Dr. Gore.
[11] Q: (BY MR. JACKSON) In addition to Dr. Ader's,
[12] you reviewed a report of Dr. Joel Gore and one of
[13] Allan Ross?
[14] MR. ZUCKER: Same objection.
[15] Q: (BY MR. JACKSON) Do you recall reviewing
[16] those before rendering your opinion?
[17] A: Yes.
[18] Q: Which one are you looking at right now?
[19] A: I have both of them in front of me.
[20] Q: Which one is on top?
[21] A: Dr. Gore.
[22] Q: Dr. Gore, do you know him to be a board
[23] certified cardiologist?
[24] A: Yes.

Page 88

[1] Q: From what institution?
[2] A: He is at the University of Massachusetts.
[3] Q: Is that a good medical institution as far as
[4] you know?
[5] A: Yes.
[6] Q: Do you note in Dr. Gore's report an absence
[7] of any criticism of Dr. Van Dyke?
[8] MR. ZUCKER: I still have a
[9] continuing objection to any questions regarding other
[10] Plaintiff's experts or not being called to testify at
[11] trial in this matter, and whose depositions have never
[12] been taken?
[13] A: Could you ask the question again.
[14] MR. JACKSON: Would you read that
[15] back for him, Miss.
[16] (The requested portion of
[17] the record was read by the
[18] reporter).
[19] A: No. In fact, I think there are very clear
[20] criticisms of Dr. Van Dyke.
[21] Q: (BY MR. JACKSON) Where do you see Dr. Van
[22] Dyke's name mentioned?
[23] A: I think it's implied. If I can read
[24] throughout, I will quote the section.

Page 89

[1] Q: Sure.

[2] A: On page two of Dr. Gore's letter he states
[3] first paragraph of that page, in addition there was a
[4] deviation in the standard of care in the
[5] administration of thrombolytic therapy. The
[6] hospital's own guidelines relative to thrombolytic
[7] therapy indicate a number of relative
[8] contraindications to the administration of TPA. Thus,
[9] if indeed Mr. Grasgreen was having a heart attack,
[10] which he wasn't, then alternative forms of therapy
[11] should have been considered and he should not have
[12] received TPA.

[13] I think therein lies one of the key
[14] issues in this case that this patient was not having a
[15] heart attack. If the attending physician had carried
[16] out his responsibilities to handle this case in the
[17] ways that I have already articulated, this patient
[18] never would have been given TPA.

[19] So I agree with Dr. Gore that the
[20] patient was not having a heart attack, did not have an
[21] indication for TPA. It was Dr. Van Dyke's
[22] responsibility to make the decision to give TPA. He
[23] made the wrong decision, which contributed to this
[24] patient's demise.

Page 90

[1] Q: Does Dr. Gore mention Dr. Van Dyke at all?

[2] A: No.

[3] Q: Does he mention Dr. Chentow?

[4] MR. ZUCKER: By name?

[5] MR. JACKSON: Yes.

[6] A: Yes, on the second paragraph of that.

[7] Q: (BY MR. JACKSON) Does he mention the
[8] hospital employees?

[9] A: Yes.

[10] Q: Let's go to Dr. Ross' letter. Dr. Ross is
[11] from what institution?

[12] A: George Washington University.

[13] Q: Is that a good medical institution in your
[14] opinion?

[15] A: Yes.

[16] Q: By the way, when is Dr. Gore's letter dated?

[17] A: July 5th, 1994.

[18] Q: What about Dr. Ross' letter?

[19] A: The same.

[20] Q: Do you note an absence of any criticism of
[21] Dr. Van Dyke in Dr. Ross' letter?

[22] MR. ZUCKER: Are you going to
[23] retain these people as experts in this case?

[24] MR. JACKSON: Am I?

Page 91

[1] MR. ZUCKER: Yes.

[2] MR. JACKSON: No, I don't

[3] anticipate.

[4] MR. ZUCKER: Is anybody doing a
[5] cross examination?

[6] MR. JACKSON: Do I intend? Yes,
[7] you bet I do.

[8] MR. ZUCKER: Of these doctors, Dr.

[9] Ross or Dr. Gore, is anybody else here going to be to
[10] questioning them?

[11] MR. JACKSON: I don't know. I

[12] suppose that will depend upon what other people decide
[13] to do.

[14] Q: (BY MR. JACKSON) Do you need that question
[15] repeated?

[16] A: No. I think I remember it. I would answer
[17] it similarly to the other letter, which is - although
[18] Dr. Van Dyke is not named specifically.

[19] Q: Does he name Dr. Chentow and hospital
[20] employees?

[21] A: May I finish my answer?

[22] Q: Let me ask you that and you can finish your
[23] answer. Does he mention - you noted that he didn't
[24] mention Dr. Van Dyke, but does he mention Dr. Chentow

Page 92

[1] and hospital employees?

[2] A: You have got kind of three questions on the
[3] table. If you could just restate it one at a time, I
[4] will be happy to tackle them one at a time.

[5] Q: You wanted to make the point that he did not
[6] mention Dr. Van Dyke by name. Does he mention Dr.
[7] Chentow by name in his report?

[8] A: I didn't want to make the point that he
[9] didn't mention him by name. I was trying to answer
[10] another question and use that as the introductory
[11] sentence.

[12] Q: Does he mention Dr. Chentow by name in his
[13] report?

[14] A: Yes.

[15] Q: Does he mention the hospital employees by
[16] name in the report or references hospital employees?

[17] A: He also mentions Dr. Van Dyke by name,

[18] Q: Does he?

[19] A: Yes.

[20] Q: How so?

[21] A: It's typed on the paper these.

[22] Q: You just said he didn't mention his name,

[23] MR. ZUCKER: He didn't mention him
[24] in what context?

Page 93

[1] **MR. JACKSON:** We know what context
[2] we are taking about.
[3] **A:** He has mentioned a lot of people in here.
[4] **Q:** (BY MR. JACKSON) Doctor, as it relates to
[5] his opinions of inappropriate care he mentions Dr.
[6] Chentow by name, does he not?
[7] **A:** Yes.
[8] **Q:** And he refers specifically to the hospital
[9] employees, does he not?
[10] **A:** He refers to attending Nurse Jordan.
[11] **Q:** He makes no reference to Dr. Van Dyke by
[12] name, correct?
[13] **A:** With regards to standard of care, no, he
[14] doesn't.
[15] **Q:** Now you wanted to make some point that
[16] although he doesn't mention Dr. Van Dyke, you were
[17] going to say something and I got into the other names
[18] and what was that?
[19] **A:** The original question you asked was is there
[20] anything in Dr. Ross' letter that has any comment with
[21] regard to departures of the standard of care by Dr.
[22] Van Dyke. I don't want to put words in your mouth,
[23] but I thought that was the question.
[24] My answer was going to be similar

Page 94

[1] to Dr. Ross' letter in that they both focus on one of
[2] the key issues, which is that this patient died from
[3] complication of TPA and that this patient should have
[4] never received TPA, because he was not having an acute
[5] myocardial infarction.
[6] **As I answered with respect to Dr.**
[7] **Ross' letter, if the attending physician had done his**
[8] **job properly, this patient should have never received**
[9] **TPA.**
[10] **Q:** Quote for me from Dr. Ross' letter where you
[11] see that he was critical of Dr. Van Dyke.
[12] **MR. ZUCKER:** Object here for one
[13] second.
[14] Doctor, I would advise you not to
[15] answer these questions, but I am really not your
[16] attorney and I can't do that. I will tell you that it
[17] is a total waste of time and that I wouldn't waste my
[18] time. I would let the court - if Mr. Jackson
[19] persists, I would let the court decide at later time
[20] whether or not you have to do these things relative to
[21] answering the questions or read for Mr. Jackson from
[22] these two letters.
[23] **Q:** (BY MR. JACKSON) Let me make a suggestion to
[24] you, Doctor. He is wrong because these are materials

Page 95

[1] which were sent to you and which you reviewed, not
[2] only did you review them and read them, I note that in
[3] your review of them you very carefully underlined a
[4] number of passages in all of these documents; is that
[5] a fair statement?
[6] **MR. ZUCKER:** You don't know that I
[7] didn't do that before he saw the records.
[8] **Q:** (BY MR. JACKSON) Did you do that
[9] underlining?
[10] **A:** Yes.
[11] **MR. ZUCKER:** I underlined as well.
[12] **Q:** (BY MR. JACKSON) That is your underlining?
[13] **MR. ZUCKER:** Go ahead and tell him
[14] why I am wrong.
[15] **Q:** (BY MR. JACKSON) Am I correct, Doctor?
[16] **A:** Yes.
[17] **Q:** So you reviewed these not only just reading
[18] them, but you underlined passages in both of those
[19] letters; is that true?
[20] **A:** That's correct.
[21] **Q:** Now if he suggests to you not to answer these
[22] questions, he is wrong, because you do have to answer
[23] these questions. Now whether or not these
[24] questions -

Page 96

[1] **MR. ZUCKER:** You were going to tell
[2] him why.
[3] **Q:** (BY MR. JACKSON) - these questions come out
[4] at an appropriate time in court and it is for the
[5] judge to decide. It is not for any one of us here to
[6] tell you as an expert in the case not to answer the
[7] question. So I would suggest to you not to do that
[8] because that would not be appropriate and these were
[9] materials which you reviewed and reviewed in some
[10] detail. So these are in fact appropriate questions
[11] which I think you have to answer.
[12] **MR. ZUCKER:** Excuse me.
[13] **MR. JACKSON:** No. We are wasting a
[14] lot of time.
[15] **MR. ZUCKER:** Go ahead. Follow his
[16] advice and answer the questions. He's paying you.
[17] **A:** My response would be that I am the expert in
[18] cardiology and the legal stuff you guys need to
[19] settle.
[20] **Q:** (BY MR. JACKSON) Exactly.
[21] **A:** Why don't you guys decide what you want me to
[22] answer. If you want me to answer, I will be happy to
[23] tackle it as best I can.
[24] **MR. ZUCKER:** Go ahead and answer

Page 97

[1] his questions.
[2] **Q:** (BY MR.JACKSON) Quote from Dr. Ross'
[3] letter, if you would, where you believe he criticizes
[4] Dr. Van Dyke's care of this patient.
[5] **A:** Indirectly?
[6] **Q:** However you imply or read it, Doctor, because
[7] he doesn't do it directly, does he
[8] **A:** No.
[9] **Q:** Nor did Dr. Gore directly?
[10] **A:** No.
[11] **Q:** So where do you read into Dr. Ross' letter
[12] that he criticizes Dr. Van Dyke?
[13] **A:** The next to last paragraph says, a strongest
[14] argument against thrombolytic therapy, however, was
[15] that the electrocardiograph indications for giving the
[16] patient TPA did not exist. Furthermore, the
[17] electrocardiograms on his final admission were
[18] essentially unchanged from acute tracings at the time
[19] of his 1986 myocardial infarction, hence, should have
[20] been interpreted as most compatible with an old left
[21] ventricular aneurysm.
[22] And that really is the essential
[23] issue that I articulated with regard to the other
[24] letter and I also addressed in my comments.

Page 98

[1] **Q:** Why do you suppose that Dr. Gore acting as
[2] the Plaintiff's expert in his review of the case as
[3] well as Dr. Ross acting as the Plaintiff's expert in
[4] the review of the case didn't criticize Dr. Van Dyke?
[5] **A:** You would have to ask them.
[6] **Q:** What is your understanding of that?
[7] **MR. ZUCKER:** Object. And you
[8] really don't have to answer this question, but that is
[9] up to you.
[10] **A:** I think you would have to ask him.
[11] **Q:** (BY MR.JACKSON) Do you have any
[12] understanding of why they didn't criticize Dr. Van
[13] Dyke?
[14] **A:** You would have to ask them.
[15] **Q:** I am not asking them.
[16] **A:** I don't know.
[17] **Q:** You don't know?
[18] **A:** I don't know. You would have to ask them.
[19] **Q:** Were you asked to specifically focus on Dr.
[20] Van Dyke in your review of this case?
[21] **A:** I was asked to review the case, period.
[22] **Q:** Did it strike you as unusual or odd that two
[23] other cardiology experts did not criticize Dr. Van
[24] Dyke directly?

Page 99

[1] **MR. ZUCKER:** Object.
[2] **A:** I can't answer that question.
[3] **Q:** (BY MR.JACKSON) Why?
[4] **A:** I don't want to be -
[5] **MR. ZUCKER:** Answer the question.
[6] Answer the question.
[7] **A:** My position was to review this case from my
[8] perspective and my opinion. They focused on the same
[9] basic arguments that I did that the fundamental issue
[10] here was a mistake in giving TPA for the reasons that
[11] I have articulated. I don't know what their full
[12] opinions are. I don't know if these letters express
[13] their full opinions.
[14] If you had them sitting here
[15] answering these questions the same as you asked me,
[16] they might come out and criticize Dr. Van Dyke as
[17] well. I suspect that they would, but again that is
[18] something you will have to ask them.
[19] **Q:** Did it strike you as odd that they didn't
[20] mention Dr. Van Dyke by name in their reports?
[21] **A:** I tried to answer that as best I could.
[22] **Q:** The answer you gave me is the best you can do
[23] in that regard?
[24] **A:** Yes.

Page 100

[1] **Q:** Why were you sent those reports?
[2] **A:** You have to ask Mr. Zucker.
[3] **Q:** Of what significance did they have to you?
[4] **A:** Just part of the record. I was sent many
[5] materials and I looked at everything that was sent to
[6] me.
[7] **Q:** In what areas of medicine do you consider
[8] yourself to be an expert?
[9] **A:** They guess it depends on how you define an
[10] expert.
[11] **Q:** You used the term earlier in your examination
[12] that you were an expert in certain areas I think you
[13] said. So whatever definition you use of expert. What
[14] areas of medicine do you consider yourself to be an
[15] expert?
[16] **A:** I am a board certified internist and I
[17] consider myself to be expert in internal medicine. I
[18] am a board certified cardiologist and consider myself
[19] to be even more expert in cardiology.
[20] I have spent the past 15 years
[21] doing a lot of clinical and experimental research,
[22] writing and lecturing around the country, and in fact
[23] in many places around the world, on all aspects of
[24] heart disease, put particularly in the areas of

Page 101

[1] myocardial infarction, heart failure, cardiac
[2] transplantation, many other areas in cardiology.
[3] **Q:** Do you administer TPA?
[4] **A:** Yes.
[5] **MR. ZUCKER:** Do you mean diagnose?
[6] Is your question does he diagnose TPA or do the actual
[7] administration?
[8] **MR. JACKSON:** TPA is not a
[9] diagnosis.
[10] **MR. ZUCKER:** Does he prescribe TPA
[11] or does he administer it?
[12] **MR. JACKSON:** He said yes.
[13] **A:** I both prescribe it and physically administer
[14] it. So the answer is yes, both.
[15] **Q:** (BY MR. JACKSON) You do both?
[16] **A:** I do both.
[17] **Q:** Okay. If you had a patient such as Mr.
[18] Grasgreen, okay, assume that there were no
[19] contraindications to the administration of TPA and
[20] assume that you believed he was having an evolving
[21] myocardial infarction. Would the administration of
[22] TPA be appropriate for that individual?
[23] **A:** Yes.
[24] **Q:** How much do you intend to charge if you come

Page 102

[1] to Cleveland?
[2] **A:** I haven't really thought about it.
[3] **Q:** What have you charged in the past when you go
[4] to court out of town?
[5] **A:** Depending on the distance, the time involved,
[6] anywhere from \$4,000 a day and up.
[7] **Q:** What is your max per day?
[8] **A:** I have only done it twice.
[9] **Q:** You charged \$4,000. Did you charge more than
[10] that at some other time?
[11] **A:** No, but it depends on the distance and the
[12] time involved with travel and the amount of time
[13] involved with discussions, and the amount of time
[14] spent at the trial itself.
[15] **Q:** Is that \$4,000 per day plus your travel
[16] expenses?
[17] **A:** Yes.
[18] **Q:** What are your parameters beyond \$4,000 is
[19] what I am trying to understand. It is 4,000 to what?
[20] **A:** No upper limit. Again, I haven't sat down or
[21] thought about it or negotiated.
[22] **Q:** In the two times that you testified in court
[23] out of town, what did you charge, one was 4,000 or
[24] were they both 4,000?

Page 103

[1] **A:** Those are ballpark figures. One was many
[2] years ago and I don't recall how long I spent and what
[3] I charged. The other one was more recently and I
[4] think it took me the better part of a day and a half
[5] or two days. I think the total charges came close to
[6] \$10,000.
[7] **Q:** Have you ever been sued?
[8] **A:** No.
[9] **Q:** You are not going to render an opinion as to
[10] Mr. Grasgreen's life expectancy, correct?
[11] **MR. ZUCKER:** Objection. He stated
[12] if he was asked to, he would. That's exactly what he
[13] said.
[14] **Q:** (BY MR. JACKSON) Let me ask it this way: Do
[15] you have an opinion as to Mr. Grasgreen's life
[16] expectancy, an opinion to a reasonable degree of
[17] medical certainty?
[18] **MR. SCOTT:** Object. He's answered
[19] that he does not have an opinion.
[20] **MR. JACKSON:** That's what I thought
[21] he said. That is what I am trying to clear up.
[22] **Q:** (BY MR. JACKSON) You did not have such an
[23] opinion?
[24] **A:** No, not to a reasonable degree of medical

Page 104

[1] certainty.
[2] **MR. ZUCKER:** Would - well, go
[3] ahead.
[4] **MR. JACKSON:** Thank you.
[5] **MR. ZUCKER:** Do you want me to ask
[6] him the follow-up question?
[7] **MR. JACKSON:** No, because the
[8] follow-up question as to what his opinion would -
[9] **MR. ZUCKER:** I thought he said that
[10] he had to do more research now that he knows he may be
[11] asked that question.
[12] **Q:** (BY MR. JACKSON) Doctor, my understanding
[13] is, because I thought Mr. Scott inquired to some
[14] extent on this, there is no information that you could
[15] obtain now even if you chose to go out and look,
[16] because there are some variables here which can't be
[17] answered, for you to render an opinion as to life
[18] expectancy of Mr. Grasgreen? Did I understand that
[19] correctly? In other words, you do not have an opinion
[20] right now to a reasonable degree of medical certainty
[21] as to life expectancy?
[22] **A:** That is correct.
[23] **Q:** Even if you sat down and did research, there
[24] is no information that would assist you in reaching a

<div>Page 105</div> <div>[1] reasonable degree of medical certainty as to life [2] expectancy because there is certain information about [3] his cardiac condition which is lost, which we will [4] never know. [5] A: That's correct. [6] Q: Did I understand you to say that in terms of [7] heart attack or MI, you talked about enzymes, and the [8] CM enzymes had to be high - I am trying to recall [9] what you said in that regard. [10] A: The CK enzymes are an indicator of actual [11] destruction of heart muscle tissue. [12] Q: What is MB? [13] A: That is the subfraction of the enzyme that is [14] specific for the heart. [15] Q: If those are elevated, that tells us what? [16] A: It tells you, depending on the total of the [17] CK and the percentage of the MB and the specific range [18] of normals for that laboratory, which vary somewhat [19] from hospital to hospital depending on the techniques [20] they use. When it's abnormal, that indicates that [21] there has been some infarction. [22] Q: Infarction in lay terms is heart attack? [23] A: That's correct. [24] Q: Now would those values maintain a high level</div>	<div>Page 107</div> <div>[1] enzymes? [2] MR. ZUCKER: Increased enzymes [3] doesn't necessarily mean heart attack. [4] MR. JACKSON: I thought he just [5] said they did. [6] A: I think the reason - you will note this [7] isn't flagged. [8] Q: (BY MR. JACKSON) Let me ask first: Do those [9] not indicate in the lab values that there were [10] elevated CK-MB enzymes? [11] A: They are borderline elevated. This is a [12] level of rise that is not diagnostic. In other words, [13] the total CK is not abnormal. The total CK is within [14] the normal range and therefore a small elevation of [15] the CK-MB does not necessarily indicate that this is a [16] myocardial infarction. [17] Q: What does the index indicate? [18] A: The index is high, but the index has to be [19] interpreted within the range of the total. When the [20] total is not elevated - even a slightly increased [21] index can be misleading. So it's possible this could [22] represent some infarction, but it's not absolute. [23] Q: On those values there are two values, the [24] first two values are for the 21st; is that correct?</div>
<div>Page 106</div> <div>[1] or I mean can they go up and then go back to a normal [2] range and still indicate a heart attack or does that [3] make any sense? [4] A: The pattern of time at which they rise and [5] then decline depends on the pattern of the blockage [6] that typically, if there is a total blockage, they [7] rise within a certain number of hours and then go on [8] and fall back to normal over several days, unless [9] there are new occlusions that develop or the arteries [10] are opening and closing. So there is a time frame [11] that gives you information about what is happening. [12] Q: Now did I understand you to say that as it [13] relates to this case you don't know whether Mr. [14] Grasgreen in fact had a myocardial infarction at the [15] hospital? [16] A: As far as I recollect from my review of the [17] laboratory data I was never able to find any elevated [18] enzymes to suggest that he had a documented heart [19] attack. [20] Q: These are the records that you reviewed? [21] A: Yes. [22] Q: And there is a cardiac injury profile and [23] apparently you had circled or someone circled some [24] values here. Do those not indicate elevated CK-MB</div>	<div>Page 108</div> <div>[1] They are on the right-hand side. [2] A: Right. [3] Q: Those are elevated; am I correct? [4] A: The total CKs? [5] Q: No, sir. The MB fraction, which is specific [6] to heart as I understood you to say. [7] MR. ZUCKER: CK-MB? [8] MR. JACKSON: Right. [9] A: The first one is not elevated. It's within [10] the normal range. The second one is minimally [11] elevated and the third one is back down to the normal [12] level. [13] Q: I thought it said high, or is that the index? [14] A: That is the index. [15] Q: The second one is high, is that right, and [16] that's a later one on the 21st, what is it, 11:01? [17] A: Yes. [18] Q: Apparently is the time? [19] A: Yes. [20] Q: Then those values on the 22nd go back to [21] normal? [22] A: Yes. We are talking about very subtle [23] changes, very subtle changes. [24] Q: According to the laboratories at Hillcrest</div>

Page 109

[1] Hospital, those are elevated?
[2] A: Yes.
[3] Q: You did not believe those to be indicative of myocardial infarction?
[4] A: I think they could possibly represent myocardial infarction. They are in a range where you can't be sure.
[5] Q: What information would you need to, in addition to those values, to be sure whether there was a myocardial infarction?
[6] MR. ZUCKER: The question is how do you diagnose myocardial infarction.
[7] Q: (BY MR. JACKSON) What else would you need? A: A whole set of other parameters and a general clinical profile, a pattern of chest pain, a pattern of EKG changes, changes in wall motion, changes in clinical hemodynamic status, angiographic findings, all could be useful in these kinds of borderline cases.
[8] This is a very common finding when you say, gee, chest pain, the patient has coronary disease, the CKs are borderline elevated. I don't know what this is from, the skeletal muscle, which could be from the heart and we need other confirmatory

Page 110

[1] evidence. Sometimes it is hard to know whether this was a tiny little bit of infarction.
[2] For this degree of enzyme elevation it really doesn't matter. The critical issue is, is the pain coming from the heart, what is the pattern of narrowings and how do we treat it.
[3] Q: You determine that with what, a catheterization?
[4] A: Well, a combination of history, physical exam, non-invasive studies.
[5] MR. ZUCKER: Excuse me.
[6] (Discussion held off the record).
[7] Q: (BY MR. JACKSON) As it relates to your board certification, Doctor, were you successful in those tests on the first attempt?
[8] A: Yes.
[9] Q: Have you ever been denied staff privileges or have they ever been revoked from or suspended from any hospital?
[10] A: No.
[11] (Discussion held off the record).
[12] Q: (BY MR. JACKSON) Just a couple more, Doctor. I have here what I understand to be your file as it relates to this case. Was there

Page 111

[1] anything taken out of this file before the deposition or is this your complete file?
[2] A: It's complete.
[3] Q: I have a couple of questions here. I went through this earlier. There are some things I am unable to read. On the back of your - is a letter from Mr. Zucker dated January 23rd, 1995. There are a number of notes that you have written. Actually on the front of the second page also.
[4] I would like copies of these. I don't think we need to mark this, but have your nurse or maybe give him your file and he can copy it and send it back to you. Timewise it might be the easiest thing to do.
[5] A: That's fine with me.
[6] Q: There are some small notes in here. There's one with your name on it. What is that note? That's your handwriting I take it?
[7] MR. ZUCKER: Dr. Van Dyke's progress note on the 22nd regarding the nurse's notes on the 21st.
[8] Q: (BY MR. JACKSON) What is the significance of that?
[9] A: I don't remember. I would have to go search

Page 112

[1] through the records to see why that was relevant.
[2] Q: Since you received the initial materials which are listed in the letter from Mr. Zucker on the 23rd of January, have you reviewed any additional materials?
[3] A: I don't think so.
[4] Q: Here is a note. It's again in your handwriting?
[5] A: Right.
[6] Q: What is that?
[7] A: That was the hand note regarding a recent conversation to prepare for this deposition, just what he would like me to - what he wanted me to review and in particular to focus on for the deposition.
[8] It says review all depositions.
[9] detail. Then I made a detailed note to myself, particularly the issue of he said/she said and who said what in terms of who was told what, what the communication was between Dr. Chenow and the nurse, what the communication was between the nurse and Dr. Van Dyke.
[10] Q: As it relates in the hospital chart here, there's some yellow stickums and notes that you made, were those made in preparation for the deposition?

Page 113

[1] A: I think they were predominantly made during
[2] my initial review. Then in preparation for this
[3] deposition I read through the materials again using my
[4] paginated marks and annotations. I don't think I made
[5] any new ones. Most of the notes and comments were
[6] made during my initial review.

[7] Q: The little blue card here, would you read
[8] that for me and tell me what that is all about?

[9] A: This card I think was some notes I made
[10] during my initial conversation with Mr. Zucker
[11] regarding the essence of the case as he communicated
[12] it.

[13] Do you want me to read through it
[14] and interpret it?

[15] Q: Yes, please.

[16] A: Conference with Zucker, attorney. TPA, 74
[17] year-old male, Chest pain, nitroglycerin.

[18] ECG: Within normal limits. 18 hours later recurrent
[19] chest pain. House staff versus RN question disputes,
[20] question relieve with nitroglycerin, "new acute MI."
[21] Then nurse to m.d. on phone (covering in car.) Then a
[22] comment that says prior MI, continued hypertension
[23] coumadin, recurrent PE.

[24] The other side it says tells nurse

Page 114

[1] to start TPA then gets EKG FAX'd to home. No acute
[2] MI. Stop TPA. Later fatal intracranial hemorrhage.
[3] Then didn't check patient. RN didn't look in chart
[4] and then 1-19-95 one half hour discussion.

[5] Q: That was the information apparently from the
[6] first conversation you had with Mr. Zucker about the
[7] case?

[8] A: Correct.

[9] Q: In your file here there's a copy of Dr.
[10] Ader's report. At the bottom there's some notes that
[11] you made. I wonder if you would read those in. Those
[12] were difficult to interpret.

[13] A: It says, why not m.d. to m.d.
[14] communications. Question mark, how far away.

[15] Q: Relative to what?

[16] A: I think where Dr. Van Dyke was.

[17] Q: Okay.

[18] A: Question, how long to drive to hospital.

[19] Q: Again, referencing Dr. Van Dyke?

[20] A: Correct. Question, other attendings
[21] in house. Question, did he ask who read EKG, did he
[22] ask to speak an m.d.

[23] Q: Did you answer those questions or were those
[24] answered for you in some fashion?

Page 115

[1] A: I am sorry?

[2] Q: You posed questions as you reviewed
[3] Dr. Ader's report?

[4] A: Yes.

[5] Q: Did your review or preparation for your
[6] deposition resolve those questions for you?

[7] A: Some of them

[8] Q: Which ones?

[9] A: Whether there were other attendings in the
[10] house or at least other physicians in the house.

[11] Q: What was the answer?

[12] A: There were clearly other physicians in the
[13] house. Did he ask who read the EKG I think was
[14] unclear. Did he ask to speak an m.d. It seems that
[15] he didn't. How far away he was I don't know. How
[16] long a drive to the hospital I don't know.

[17] Q: What is this note down here? I can't make
[18] that out.

[19] A: It says agree.

[20] MR. JACKSON: I don't need to mark
[21] all this, but I need copies.

[22] MR. ZUCKER: I will take the file
[23] and make copies.

[24] Q: (BY MR. JACKSON) Do you anticipate to appear

Page 116

[1] live in this case?

[2] A: If requested.

[3] Q: Have you been requested to appear live?

[4] A: Yes.

[5] MR. ZUCKER: Do you have an
[6] airplane reservation for Tuesday, Doctor?

[7] A: Yes.

[8] MR. JACKSON: No further questions
[9] at this time.

[10] RE-EXAMINATION BY MR. SCOTT:

[11] Q: Doctor, would you mind looking at the EKGs
[12] that you referenced and just tell me if you see any
[13] changes of any kind as among the EKGs leading up to
[14] the one on May 21 and comparing it to the one of May
[15] 21 at about 5:50, I believe.

[16] A: Could you restate the question with regard to
[17] the essence of how you want me to address that.

[18] Q: Yes. I want to know whether you see any
[19] changes of any kind or discrepancy, any changes
[20] whatsoever, in the EKGs taken at admission and
[21] through, I believe it's May 21 at about 5:50 in the
[22] evening.

[23] A: Can I pull this out and turn it side by
[24] side? It's hard to compare one with the other upside

Page 117

[1] down.

[2] **MR. ZUCKER:** Sure you can.

[3] You are not asking about the '86?

[4] **MR. SCOTT:** Not yet.

[5] **Q:** (BY MR. SCOTT) Yes, if you wish to do it

[6] that way, that's fine, but I am most interested in the

[7] EKGs. I want a separate question as to the EKGs in

[8] the hospital upon admission and through the time of

[9] the EKG of May 21 at 5:50.

[10] **MR. ZUCKER:** That's 7:17 in the

[11] morning. You want that one, yeah. And where is the

[12] admission.

[13] **A:** They were out of order. I am looking first

[14] here on the 20th of May 1993, 2204, which I understand

[15] to be the initial EKG for that admission. The next

[16] one I have is the 2Pst of May at 7:17 in the morning

[17] and those essentially are the same.

[18] **Q:** Do you see any changes, Doctor?

[19] **A:** No significant changes in those two.

[20] **Q:** What changes do you see?

[21] **A:** No significant changes - there is no

[22] significant changes.

[23] **Q:** Are any changes?

[24] **A:** Well, one has a little bit more artifact.

Page 118

[1] These are not measuring pie to seven digits. These

[2] are traces from patients.

[3] If I take an EKG from you now and

[4] do one ten minutes later, there will be subtle

[5] differences in the baseline, but I don't see any

[6] differences of importance. Nor do I see any on the

[7] 21st of May at 1750.

[8] **Q:** You see no change between the EKG done at

[9] 7:17 in the morning or the one done at the time of

[10] admission?

[11] **A:** Either. They are all basically the same.

[12] **MR. ZUCKER:** As compared to the one

[13] at 5:50 p.m. on the 21st.

[14] **A:** All three EKGs, the one on the 20th of May at

[15] 2204, the one on the 21st of May at 7:17 and the one

[16] on the 21st of May at 1750. There are no significant

[17] changes listed. And compared to the original or the

[18] most distant one in the past, which I have a hard time

[19] seeing the date -

[20] **MR. ZUCKER:** Agree to November of

[21] '86. John, you will agree that that is November of

[22] '86?

[23] **MR. SCOTT:** Yes.

[24] **A:** There are some changes compared to '86. The

Page 119

[1] anterior myocardial infarction, leads V1 through V4

[2] are, at least V1 to V3, are merely identical. V4, V5

[3] and V6 are different - it's hard to know what they

[4] mean. Leads 1 and leads AVL also have some changes

[5] compared to that one from 1986.

[6] **Q:** (BY MR. SCOTT) Are there T-wave changes in

[7] the EKGs from admission through May 21 at 5:50?

[8] **MR. ZUCKER:** Are there any changes

[9] between the three?

[10] **MR. SCOTT:** Right.

[11] **A:** Minimal. Not that I would consider

[12] significant.

[13] **Q:** (BY MR. SCOTT) What is the significance of a

[14] T-wave change?

[15] **A:** They can be very nonsignificant. It depends

[16] on their pattern. They may be like we see here, those

[17] kind of changes from EKG to EKG that can change with

[18] subtle changes in your sodium or your potassium or

[19] your blood pressure. A lot of other things. When

[20] they are subtle, they are nonspecific and can be

[21] innocent, but they can be indicative of something more

[22] serious.

[23] When they are dramatic, we have

[24] abnormal and you have to have something to hang your

Page 120

[1] hat on; again, depending on the comparison to prior

[2] traces if they are available.

[3] **Q:** Would you look at the one again for May 21 at

[4] 5:50, the EKG for that time. Are there Q-waves in V1

[5] through V3?

[6] **A:** Yes.

[7] **Q:** One to two millimeter elevation at V1 through

[8] V3?

[9] **A:** Yes.

[10] **Q:** ST segment inversion in V4 through V6?

[11] **A:** Yes.

[12] **Q:** Anything else of significance?

[13] **A:** There's some T-wave inversions in 1-AVL as

[14] well.

[15] **Q:** Thank you, Doctor.

[16] You are critical of the nurses in

[17] this case, are you not?

[18] **MR. ZUCKER:** I object to the form.

[19] You can answer. Nurses?

[20] **MR. SCOTT:** Perhaps.

[21] **A:** I really haven't focused on the nurses in

[22] terms of his role in this. I thought the

[23] responsibility really laid with the physicians in this

[24] case. I didn't have any information that made me

Page 121

[1] think that the nurse was in any way significantly
[2] responsible for the outcome here.
[3] **Q:** (BY MR. SCOTT) Did you read the nurse's
[4] deposition?
[5] **A:** Initially I did. I have not reviewed it for
[6] this deposition.
[7] **Q:** Did you read the nurse's deposition as it
[8] related to review of the contraindications for TPA?
[9] **A:** At one point I did.
[10] **Q:** Were you critical of the nurse in that
[11] review?
[12] **A:** You would have to special ask me a specific
[13] question.
[14] **Q:** I wouldn't be able to just now as a matter of
[15] fact, but I think there are reports that detail the
[16] criticisms of the nurses in other expert reports.
[17] **MR. ZUCKER:** Such as Dr. Ader's
[18] report, which indicates that the nurse was lax because
[19] the nurse didn't know what a known bleeding diathesis
[20] was. Do you recall reading that, for example?
[21] **A:** I recall at some point in the documents.
[22] **MR. ZUCKER:** Dr. Lach suggests that
[23] - he questions the nurse asking for a EKG. Do you
[24] recall that?

Page 122

[1] **A:** No.
[2] **MR. ZUCKER:** All right. I thought
[3] I could refresh his memory.
[4] **Q:** (BY MR. SCOTT) When is a patient a candidate
[5] for catheterization?
[6] **A:** Potentially I don't have enough data to make
[7] the decision. That is, again, something that you
[8] would want to make as a physician cardiologist
[9] assessing the patient properly at the bedside with a
[10] quality history, physical exam, review all relative
[11] data, some noninvasive tests, discuss it with the
[12] patient and family.
[13] **MR. SCOTT:** That's all I have.
[14] Thanks, Doctor.
[15] **RE-EXAMINATION BY MR. JACKSON:**
[16] **Q:** Doctor, do you, as it relates to the hospital
[17] and the nurse, disagree with opinions of Dr. Gore and
[18] Dr. Ross?
[19] **MR. ZUCKER:** Objection. Go ahead.
[20] **A:** Which opinions, the letters that we went
[21] through before.
[22] **Q:** (BY MR. JACKSON) Are you critical of the
[23] hospital and the nurse?
[24] **A:** I certainly agree with Dr. Gore that the

Page 123

[1] patient was not treated well. I also agree with him
[2] to some extent that it's hard to know from the
[3] interpretation of events who said what to whom.
[4] I have, as I stated, very firm
[5] opinions about the responsibility of the physicians
[6] who always must and should bear the ultimate
[7] responsibility for taking care of patients.
[8] I think that the nursing care may
[9] have been less than optimal, but I really point my
[10] fingers more at the physicians in this case.
[11] **Q:** So you do or do not disagree with Dr. Gore?
[12] Is that Gore you are reading?
[13] **A:** I tried to answer the question as best I
[14] could.
[15] **Q:** How about Dr. Ross?
[16] **A:** I certainly agree with Dr. Ross that there
[17] was a departure from the standard of care with respect
[18] to Dr. Chentow and really at this point would have to
[19] go back and review in detail the information and have
[20] you ask me specific questions about Nurse Jordan to
[21] render an opinion as to whether I agree with him or
[22] not in that regard.
[23] **Q:** So that I am clear, one last point. In a
[24] patient such as Mr. Grasgreen, if it is in fact true

Page 124

[1] that he was having chest pain for an excess of 30
[2] minutes, or approximately 30 minutes, unrelieved by an
[3] increase in nitroglycerin and that the EKG had been
[4] read as indicating an acute interior MI with changes
[5] from the morning to the afternoon EKG, would you agree
[6] that that patient would be a candidate for TPA?
[7] **MR. ZUCKER:** Hold that thought. I
[8] object. That is a mischaracterization of the facts in
[9] this case, but as a hypothetical go ahead and answer.
[10] **A:** I was going to answer the same way. The
[11] reality was that there weren't acute changes
[12] indicative of an acute myocardial infarction.
[13] **Q:** (BY MR. JACKSON) My question to you, Doctor,
[14] was if in fact the things that I just said to you are
[15] true, if in fact it is true, I am asking you to assume
[16] the following, okay: If a patient such as Mr.
[17] Grasgreen had chest pain for 30 minutes or more,
[18] unrelieved by increase in doses of nitroglycerin, an
[19] EKG has been read as acute interior MI with changes
[20] from an earlier EMG, that man is a candidate for TPA
[21] administration; is that correct?
[22] **A:** Correct.
[23] **MR. JACKSON:** I have no further
[24] questions.

Page 125

[1] MR. SCOTT: Let me just ask a
[2] couple more follow up.

[3] RE-EXAMINATION BY MR. SCOTT:

[4] Q: Where a patient is having chest pain and with
[5] a coronary history and it's unrelieved by
[6] nitroglycerin, are those consistent with the patient
[7] having an MI, that is, the unrelieved chest pain, is
[8] that consistent with a patient having an MI?

[9] A: Consistent with, but not diagnostic of.

[10] Q: You, in your practice, rely upon nurses to
[11] give information that you give to them to other
[12] doctors, do you not?

[13] MR. ZUCKER: Object. Go ahead.

[14] A: If I have important clinical information, I
[15] communicate it to a physician directly.

[16] Q: (BY MR. SCOTT) For example, you might tell a
[17] nurse to advise another doctor of certain laboratory
[18] findings?

[19] A: Yes.

[20] Q: You might tell a nurse to advise another
[21] doctor - let me back up. You might tell a CCU nurse
[22] to advise another doctor of EKG findings?

[23] A: Depending on the situation I would either do
[24] it directly if I thought it was a critical issue or I

Page 126

[1] might do it indirectly if I thought it was something
[2] that could be communicated indirectly.

[3] Q: By indirectly you have sometimes asked nurses
[4] to communicate EKG findings to another doctor?

[5] A: I am not sure that I ever have. I think
[6] findings, yes.

[7] Q: Nothing unreasonable in doing that?

[8] A: Depends on the situation.

[9] Q: When you say findings, do you mean, for
[10] example, ST changes?

[11] A: You moved from do I have nurses communicating
with physicians about information to EKGs, and I don't
[13] use nurses to communicate with other physicians
[14] important findings like changes in an EKG, no, I
[15] don't.

[16] Q: Do you find that is not appropriate?

[17] A: In that particular kind of case, no, I don't
[18] think it's appropriate

[19] Q: Is it appropriate in your mind for a doctor
[20] to rely upon a nurse to accurately convey information
[21] given to her to another doctor?

[22] A: Absolutely. It's important that we all
[23] communicate accurately, whether it's from a secretary
to a lab technician or physician or a nurse. I mean

Page 127

[1] we all work together. We have to communicate
[2] together, but there is a chain of command and there
[3] are responsibilities. And depending on the context of
[4] the problem and the problem itself, these are things
[5] that can be communicated through others, there are
[6] things that have to be communicated directly and there
[7] are situations in which physical presence is
[8] necessitated.

[9] Q: I understand what your position is in that
[10] regard. I asked a slightly different question.

[11] Should a CCU nurse be able to
[12] accurately convey EKG findings given by a doctor to
[13] another doctor?

[14] A: I think the way you phrased it you could have
[15] an answering service do it or you could do it over
[16] voice mail.

[17] MR. ZUCKER: So the answer is yes?

[18] A: To that extent, yes. You could do it with a
[19] telegram or E-mail.

[20] Q: (BY MR. SCOTT) So in this instance, of
[21] course, assuming that the nurse did not convey
[22] accurately what was told to her by Dr. Chentow to
[23] Dr. Van Dyke, I presume you would be critical of the
[24] nurse in that regard?

Page 128

[1] A: That's a hypothetical. Could you rephrase
[2] that for me.

[3] Q: Sure. I will try to.

[4] If the nurse in this instance did
[5] not accurately convey what was given to her by Dr.
[6] Chentow - let me back up. If the nurse in this
[7] instance did not convey to Dr. Van Dyke the
[8] information given to him by Dr. Chentow, you would be
[9] critical of the nurse in that regard, would you not?

[10] A: Yes, I would.

[11] MR. SCOTT: That's all I have. I
[12] thank you very much, Doctor.

[13] MR. JACKSON: I have one other
[14] point, Doctor.

[15] RE-EXAMINATION BY MR. JACKSON

[16] Q: What is your understanding of who the
[17] Defendants are in this action, in the legal action?

[18] MR. ZUCKER: Their names or their
[19] legal status?

[20] MR. JACKSON: Who they are.

[21] MR. ZUCKER: Their names.

[22] A: You know I never asked - I assumed that
[23] it's - I assume that Dr. Van Dyke is a Defendant and
[24] I assume that Dr. Chentow is, other than that I don't

Page 129

[1] know.

[2] **Q: (BY MR.JACKSON)** As a part of your package
[3] you received information with requests for admissions
[4] and other legal pleadings relative to the hospital as
[5] being a Defendant in this action also.I assume you
[6] reviewed all of that information?

[7] **A:** I didn'treally focus on the legal documents
[8] themselves.

[9] **Q:** You didn't review the documents?

[10] **A:** I probably looked at them briefly paging
[11] through it.I tried to focus more on the medical
[12] issues.

[13] **Q:** When you wrote your report on February 28th
[14] of 1995,what was your understanding of the status of
[15] the claim against the hospital?

[16] **A:** I don't think I ever focused on it or
[17] addressed it. My responsibility was to analyze the
[18] case and comment on the medical care.

[19] **MR. ZUCKER:** Did you know that I
[20] had settled with the hospital?

[21] **A:** No.

[22] **MR. ZUCKER:** Okay.

[23] **Q: (BY MR.JACKSON)** Were you aware that the
[24] hospital was a Defendant in this action?

Page 130

[1] **MR. ZUCKER:** Technically probably
[2] not.I told you that I had settled with the lawyer
[3] for the nurse and the hospital.

[4] We will stipulate to it. He knows
[5] about it.

[6] **Q: (BY MR.JACKSON)** Were you told when you
[7] wrote your report that the claims against the hospital
[8] had been resolved by settlement?

[9] **A:** No.

[10] **MR. ZUCKER:** It hadn't been settled
[11] until thereafter and I told him immediately.

[12] **A:** I don't even know if I knew it up until this
[13] point.

[14] **Q: (BY MR.JACKSON)** Were you asked to focus on
[15] just the doctors care in this?

[16] **MR. ZUCKER:** Asked and answered.

[17] **A:** No.

[18] **MR. JACKSON:** No further questions.

[19] **MR. ZUCKER:** For the record I have
[20] got an hour-and-a-half. It's now almost 5:30. I have
[21] an hour-and-a-half,two-and-a-half, two hours and 45
[22] minutes of your time, Doctor,we took here today;is
[23] that correct?

[24] **A:** I don't punch a clock.We started at 2:30.

Page 131

[1] **MR. ZUCKER:** And your rate is \$500
[2] an hour?

[3] **A:** Only because these guys were gentlemen.

[4] **MR. ZUCKER:** I am sure they will be
[5] gentlemen and pay you promptly as well.

[6] Doctor,you have the right to read
[7] this deposition to make sure that your testimony is
[8] transcribed properly.I might suggest only because -
[9] I am sure this court reporter is extremely competent
[10] - only because it is from another jurisdiction and
[11] the names and places may be foreign that you do not
[12] waive and that you review your deposition.

[13] **A:** I would be happy to.

[14] (Deposition concluded at 5:30 p.m.)

SIGNATURE OF THE WITNESS

[21] SUBSCRIBED AND SWORN to before me this day of
[22] , 19 .

Page 132

NOTARY PUBLIC

[3] My Commission expires:

[1] CERTIFICATE OF NOTARY
[2]
[3]
[4]
[5] STATE OF MICHIGAN)
[6])SS
[7] COUNTY OF OAKLAND)
[8]
[9]
[10] I, Joan Martin, CSR 01 11, a Notary
[11] Public In and for %he County of Oakland, Sate of
[12] Michigan, do hereby certify that the deposition of
[13] James A. Goldstein, M.B. Was taken before me on the
[14] 1st day of June, 1995, at the time and place
[15] hereinbefore set forth; that the witness was by me
[16] first duly sworn to testify to the truth, the whole
[17] truth and nothing but the truth, that thereupon the
[18] foregoing questions were asked and the foregoing
[19] answers were made by the witness which were duly
[20] recorded by me stenographically and later reduced to
[21] computer transcription under my personal supervision;
[22] and I do certify that this is a true, full and correct
[23] transcript of my stenographic notes so taken.
[24]

[1] I do further certify that the
[2] signature to and the reading of the deposition by the
[3] witness was requested by counsel for the respective
[4] parties hereto; also, that I am not related to, nor of
[5] counsel to either party, nor interested in the event
[6] of this cause.
[7]
[8]
[9]
[10]
[11] Joan Martin CSR 01 11
[12] Oakland County, Michigan
[13]
[14] My Commission expires:
[15] October 16, 1998
[16]
[17]
[18]
[19]
[20]
[21]
[22]
P31
[24]

[1]	INDEX TO EXAMINATIONS	
[2]		
[3]		
[4]	Witness	Page
[5]	James A. Goldstein, M.D.	
[6]	EXAMINATION BY MR. SCOTT:	3
[7]	EXAMINATION BY MR. JACKSON:	63
[8]	RE-EXAMINATION BY MR. SCOTT:	116
[9]	RE-EXAMINATION BY MR. JACKSON:	122
[10]	RE-EXAMINATION BY MR. SCOTT:	124
[11]	RE-EXAMINATION BY MR. JACKSON:	128
[12]		
[13]		
[14]		
[15]		
[16]		
[17]		
[18]		
[19]		
[20]		
[21]		
[22]		
[23]		
[24]		

\$	3	abrogating 64:7 absence 29:22; 32:1; 88:6; 90:20 absolute 28:12; 107:22 Absolutely 68:12; 70:7; 72:21; 80:4, 16, 19; 84:9; 126:22 According 108:24 accurately 126:20, 23; 127:12, 22; 128:5 across 17:8 act 45:2 acting 98:1, 3 action 128:17, 17; 129:5, 24 activities 16:17 actual 101:6; 105:10 actually 6:2; 8:10; 10:17; 13:10; 15:15; 26:17, 19; 27:15; 39:20; 111:8 acute 5:16; 7:11, 16; 19:20; 28:16; 29:1; 36:19; 46:19; 50:4; 51:2, 6; 64:10, 14; 69:5; 72:18; 74:7; 80:7, 10; 94:4; 97:18; 113:20; 114:1; 124:4, 11, 12, 19 addition 22:11; 33:19; 87:11; 89:3; 109:9 additional 47:11; 112:4 address 63:12; 116:17 addressed 97:24; 129:17 Ader 85:17, 18, 19; 86:3, 5, 12 Ader's 87:5, 11; 114:10; 115:3; 121:17 adjusted 47:11 adjustments 46:6 administer 50:7; 53:8; 75:15; 101:3, 11, 13 administered 6:3; 45:14 administrating 51:5 administration 46:9; 47:21, 24; 54:1; 58:9, 12; 79:24; 89:5, 8; 101:7, 19, 21; 124:21 admission 23:1; 27:11, 11; 36:8; 46:16; 97:17; 116:20; 117:8, 12, 15; 118:10; 119:7 admissions 14:20; 129:3 admit 38:1 admitted 29:19; 30:5, 11; 37:6, 20; 64:10 admitting 12:17 adult 51:20; 52:3; 65:5 advantages 7:15 advice 96:16 advise 94:14; 125:17, 20, 22 Afterload 9:20 afternoon 124:5 again 3:5; 26:15; 32:17; 34:16; 41:8; 55:21; 58:10, 13; 88:13; 99:17; 102:20; 112:7; 113:3; 114:19;	120:1, 3; 122:7 against 35:12; 97:14; 129:15; 130:7 ago 5:23; 10:7; 86:14; 103:2 agree 11:7; 49:16; 77:13, 19, 23; 82:22, 22; 89:19; 115:19; 118:20, 21; 122:24; 123:1, 16, 21; 124:5 agreement 63:13 agrees 77:17 ahead 22:3; 32:16; 33:8; 38:23; 42:23; 51:18; 52:17; 68:7; 75:8; 76:21; 95:13; 96:15, 24; 104:3; 122:19; 124:9; 125:13 airplane 116:6 alive 33:21, 23 Allan 87:13 allow 23:12; 72:17 allows 33:23 almost 22:15; 31:8; 71:18; 130:20 alone 36:4; 72:11 already 25:14, 18; 45:21; 46:7; 47:1; 78:13; 81:8; 89:17 alternative 89:10 Although 26:7; 30:13; 40:6; 44:17; 91:17; 93:16 always 123:6 among 47:2; 55:15; 116:13 amount 23:24; 102:12, 13 analysis 29:18 analyze 129:17 anatomy 25:8 and-a-half 103:4 aneurysm 97:21 angiogram 33:14 angiographic 109:17 Angiography 8:11, 13 angioplasty 7:16; 23:10 annotations 113:4 answered 77:15; 78:13; 94:6; 103:18; 104:17; 114:24; 130:16 answering 69:16; 94:21; 99:15; 127:15 anterior 119:1 anticipate 91:3; 115:24 anybody 19:5; 70:20; 76:20, 20; 82:8; 83:15; 91:4, 9 anyone 82:3, 6 anywhere 21:12; 102:6 apparent 68:2 apparently 64:15; 65:10, 11; 106:23; 108:18; 114:5 appear 115:24; 116:3 appearance 56:9; 58:18 appeared 35:16	apply 29:18 appointed 12:2 appropriate 46:8, 10; 78:19, 21, 22; 79:10, 24; 81:9, 10; 82:14, 20; 84:18; 96:4, 8, 10; 101:22; 126:16, 18, 19 approximate 4:13; 15:14 Approximately 3:16; 5:22; 10:7; 17:12; 124:2 area 9:16; 14:7; 76:8 areas 19:13, 17, 19; 100:7, 12, 14, 24; 101:2 argue 47:3 argument 97:14 arguments 99:9 Arkansas 10:6, 20, 23; 16:10 around 50:19; 64:19; 83:3, 5, 8; 100:22, 23 arrest 39:17; 43:11; 81:14 arrival 38:16 arrive 40:16; 43:7 arrived 81:14 arriving 40:14 arteries 8:15; 24:9; 27:9; 31:7; 33:15; 106:9 Artery 8:19; 23:11; 24:10; 30:6 Arthur 35:23 articulated 14:14; 32:8; 34:19; 66:22; 69:11; 89:17; 97:23; 99:11 artifact 117:24 ascribe 32:20; 41:5 aside 12:22 aspects 47:8; 75:12, 23; 76:1; 100:23 aspirin 45:22 assess 33:2; 38:3, 6, 10; 39:12, 21; 40:8, 8; 61:15; 62:18; 63:3, 4; 84:20; 85:3 assessed 42:6, 20 assessing 38:18; 122:9 assessment 42:3, 24; 43:6; 44:11; 57:17; 59:6, 8; 65:6; 74:9 assessments 42:18 assist 104:24 association 66:19 assume 11:17; 18:13; 37:8; 41:15; 44:24; 49:23; 53:12, 16; 65:23; 70:4, 15; 76:10, 12, 15, 17; 77:1; 78:3; 81:2; 101:18, 20; 124:15; 128:23, 24; 129:5 assumed 76:13; 128:22 assuming 79:21; 81:7; 83:24; 127:21 assumptions 77:15 assure 84:17 attack 23:2; 24:23; 30:3, 14, 18; 31:5; 32:5; 54:21;
\$10,000 103:6 \$375 17:22 \$4,000 102:6, 9, 15, 18 \$500 131:1	30 21:11, 18; 23:3; 26:8; 35:2, 2, 13, 23; 44:22; 124:1, 2, 17 35 17:14; 20:21; 34:7; 35:16, 24			
0	4			
0111 134:11	4,000 102:19, 23, 24 45 130:21			
1	5			
1 119:4 1-19-95 114:4 1-AVL 120:13 11:01 108:16 12 4:4; 8:6 13 8:8 14 9:19 15 100:20 16 134:15 17 86:14 1750 118:7, 16 18 113:18 198 10; 131:22 197 86:7 1984 3:16 1986 5:2; 20:17, 20; 30:19; 35:18, 24; 46:15; 53:21; 55:11, 14; 97:19; 119:5 1993 117:14 1994 90:17 1995 3:2; 18:21; 111:7; 129:14 1998 134:15	50 21:9, 12, 18 55 21:9 5:30 130:20; 131:14 5:50 116:15, 21; 117:9; 118:13; 119:7; 120:4 5th 90:17			
	6			
	65 17:14			
	7			
	70 21:13 74 113:16 75 21:19 7:17 117:10, 16; 118:9, 15			
	8			
	84 4:14 86 21:5; 23:8; 24:19; 25:13; 29:24; 33:3; 35:16; 36:5; 54:9; 117:3; 118:21, 22, 24			
	9			
	93 36:8			
	A			
20 18:21; 19:12; 82:24 20th 30:4; 117:14; 118:14 21 63:14, 21; 116:14, 15, 21; 117:9; 119:7; 120:3 21st 36:13; 107:24; 108:16; 111:21; 117:16; 118:7, 13, 15, 16 22 8:17 2204 117:14; 118:15 22nd 108:20; 111:20 23 18:21 23rd 111:7; 112:4 28 8:20 28th 129:13 29 7:13; 8:2 2:20 3:3 2:30 130:24	ability 71:8 able 8:2; 21:5; 24:15; 27:7, 12; 28:1; 34:3; 36:3; 45:4; 50:3, 5, 7; 53:8, 9; 66:10; 82:12; 106:17; 121:14; 127:11 abnormal 27:21; 39:6, P 1; 40:19; 56:10; 74:19; 105:20; 107:13; 119:24 abnormalities 30:17; 55:4; 56:22; 57:11 abnormality 61:5 above 21:9; 35:22			

55:14, 16; 89:9, 15, 20;
105:7, 22; 106:2, 19; 107:3
attacks 9:23; 57:12
attempt 110:15
attempting 38:20
attend 71:5
attendance 67:23; 72:23
attendant 72:1
attended 84:18
attending 41:17, 19, 22,
24; 43:9, 12; 44:16; 45:1;
53:12, 13, 16; 66:11; 68:1;
71:4, 4; 84:23; 89:15;
93:10; 94:7
attendings 114:20; 115:9
attorney 4:7; 6:14; 94:16;
113:16
attorneys 3:20
August 5:3
autopsy 29:16
available 20:13; 40:22;
51:24; 54:4; 61:23; 66:16;
82:15; 84:18; 120:2
AVL 119:4
aware 14:5; 25:5; 35:9;
53:4, 19, 21; 70:13; 73:9;
129:23
away 63:2; 114:14;
115:15

B

back 15:22; 35:18; 40:18;
44:4; 45:15; 47:7; 49:9, 22;
63:7; 81:5; 82:5, 10; 88:15;
106:1, 8; 108:11, 20;
111:6, 13; 123:19; 125:21;
128:6
background 49:23;
50:20; 66:17; 82:21
backwards 47:19
ballpark 21:2; 103:1
Barnes 13:10
base 21:1; 69:2; 80:15
based 20:12; 21:6; 32:7;
36:3; 64:17; 69:5; 79:17
baseline 118:5
basic 50:3; 51:10; 99:9
Basically 75:9; 118:11
basing 32:3; 73:23
basis 17:4, 7; 18:6
battle 82:18
bear 123:6
Beaumont 16:16
become 23:17, 22
becoming 29:24
bedside 8:14; 17:9; 59:2;
64:21; 65:6; 66:7, 10;
67:23; 68:22; 69:8; 73:1;
76:3; 82:7, 11, 13, 17;
84:16, 19, 20, 24; 122:9
began 29:12
begin 3:14; 45:4

behalf 11:11; 17:12
behind 72:6, 14
believe 20:16; 36:12;
44:9; 45:19; 47:5; 57:15;
58:15, 19; 75:7; 80:17;
81:8; 83:17; 97:13; 109:3;
116:15, 21
believed 83:19; 101:20
below 21:18; 23:3
Benefit 8:22
benefits 51:5
besides 25:20
best 20:10; 22:22; 26:5;
73:12; 96:23; 99:21, 22;
123:13
bet 91:7
beta 45:24
better 23:9, 17, 22; 103:4
beyond 26:11; 35:23;
102:18
big 22:9
biologically 33:12
bit 33:2; 63:6; 110:2;
117:24
bleeding 121:19
blockage 106:5, 6
blocked 25:9
blocker 45:24, 24
blood 9:1; 23:11; 25:9;
28:21, 23; 34:20, 22;
56:18; 119:19
blue 113:7
bluish 56:24
board 49:24; 79:23;
87:22; 100:16, 18; 110:13
borderline 107:11;
109:18, 22
both 7:18; 8:23; 13:10;
15:13; 16:7; 17:4; 19:10;
57:19; 85:13; 87:19; 94:1;
95:18; 101:13, 14, 15, 16;
102:24
bother 41:23; 46:13;
75:21
bottom 114:10
break 77:21
breath 56:11
briefly 129:10
bring 48:12
brought 27:3

C

calcium 45:24
call 24:4; 27:15; 28:20;
40:17; 41:3, 4, 23; 43:8;
54:16, 24; 65:7; 66:10;
70:9, 15; 71:23; 72:5; 73:8;
81:3
called 17:19; 22:9; 36:18;
37:2, 9, 13; 38:6, 13, 21,
24; 39:20; 40:18; 41:11;
43:15; 44:19; 45:11, 17;
46:17; 55:12; 56:1; 61:12,

14; 63:3, 4; 64:13, 19;
71:17, 18, 19, 20; 81:12;
85:5; 88:10
calling 68:15
calls 62:19
came 20:4, 6; 27:24;
29:11; 30:19; 47:19;
48:21; 68:16; 69:1; 103:5
Can 5:6; 7:2, 8; 11:9;
15:14; 20:10, 12; 21:24;
22:4, 20, 21, 23; 23:16;
24:10, 18, 20; 25:22, 23;
26:18, 21; 28:6, 14, 16, 17,
20; 29:4; 31:23, 24; 32:16;
33:11; 35:18; 41:7, 13;
45:4; 65:7; 67:2; 68:22, 22;
70:21; 73:12; 74:2; 83:21;
86:1; 88:23; 91:22; 96:23;
99:22; 106:1; 107:21;
111:12; 116:23; 117:2;
119:15, 17, 20, 21; 120:19;
127:15
candidate 6:4; 122:4;
124:6, 20
capacities 17:6
capacity 25:24
ear 64:16; 113:21
card 113:7, 9
cardiac 9:18; 16:18;
39:17; 43:11; 50:5; 59:17;
60:3; 72:11; 81:14; 101:1;
105:3; 106:22
cardiologist 44:16;
49:24; 53:13, 14, 17, 18;
68:1; 79:24; 86:13; 87:23;
100:18; 122:8
cardiologists 33:13;
82:1; 85:13
cardiology 12:6; 13:23,
24; 14:2; 15:12; 17:2; 71:7,
12; 86:6, 16; 96:18; 98:23;
100:19; 101:2
cardiovascular 12:4;
16:21; 56:22
care 4:9; 12:18; 13:3;
16:1, 3, 15; 17:8; 37:4, 23;
38:2, 2, 18; 40:6, 8; 41:15;
50:16, 21; 51:21; 52:14;
53:9; 54:5; 61:17; 64:4, 12;
66:3, 14, 15; 67:14; 70:5,
12, 12, 13, 17; 72:1; 78:22;
79:10; 81:9, 10; 83:2;
84:23; 89:4; 93:5, 13, 21;
97:4; 123:7, 8, 17; 129:18;
130:15
career 60:16
carefully 56:13; 95:3
caring 69:19
carotid 57:8
carried 89:15
case 3:5; 5:3, 19, 20, 22,
24; 6:2, 9, 10, 12, 14; 9:7;
10:9, 13, 20, 22; 11:10;
17:17; 18:10; 19:13, 22;
22:17, 23; 24:1, 17; 28:17;
30:10; 31:12; 34:5; 39:9,
18; 40:8; 43:14; 47:20;

57:21; 61:23; 63:19; 64:3;
67:19, 20; 74:15; 78:6;
81:18; 83:15; 85:5; 86:23;
87:9; 89:14, 16; 90:23;
96:6; 98:2, 4, 20, 21; 99:7;
106:13; 110:24; 113:11;
114:7; 116:1; 120:17, 24;
123:10; 124:9; 126:17;
129:18
cases 3:11, 12, 15, 17,
20; 4:1, 5, 12, 16, 21; 5:11,
12, 14, 16; 17:11; 109:19
catch 28:21
category 35:19; 52:7
cath 17:8
catheterization 16:19;
26:18; 50:6; 54:22; 110:8;
122:5
cause 30:12; 134:6
CCU 68:21; 125:21;
127:11
central 5:18; 30:10
certain 15:4, 5, 9; 22:15;
28:8, 11, 14; 31:16; 49:20;
50:4; 78:12; 100:12;
105:2; 106:7; 125:17
certainly 30:14; 31:8;
36:7; 43:5; 47:4; 72:17;
78:24; 82:2; 122:24;
123:16
certainty 27:24; 31:15,
24; 32:9; 34:4; 103:17;
104:1, 20; 105:1
certification 110:14
certified 17:1; 49:24;
79:23; 87:23; 100:16, 18
certify 134:1
cetera 17:10
chain 71:22; 72:2; 127:2
chambers 57:10
change 62:9; 118:8;
119:14, 17
changes 30:21; 34:21;
54:13, 19; 55:2, 6, 19, 20,
20; 64:14; 75:24, 24; 76:7,
11, 13; 77:2, 9, 11; 78:1, 7,
19; 79:5; 80:13; 108:23,
23; 109:16, 16, 16; 116:13,
19, 19; 117:18, 19, 20, 21,
22, 23; 118:17, 24; 119:4,
6, 8, 17, 18; 124:4, 11, 19;
126:10, 14
channel 45:24
characteristic 30:22
charge 101:24; 102:9, 23
charged 102:3, 9; 103:3
charges 103:5
chart 47:9; 49:2, 6; 53:22,
24; 54:1, 1, 3, 4, 7, 15;
55:9, 21; 56:16; 112:22;
114:3
charts 19:8
check 74:24; 75:10, 11,
13; 114:3
Chentow 3:5; 36:12, 18;
37:2, 12, 14, 16, 20; 38:5,

14, 17, 19, 21; 41:2, 2, 5,
10, 23; 42:1, 4; 45:17, 19;
46:12, 17, 22; 47:5, 19;
48:7, 21; 49:18; 52:5; 54:6;
55:12, 24; 62:5; 65:12, 24;
66:2; 67:1, 9; 68:20, 21;
74:13; 80:14; 81:18, 20,
22; 90:3; 91:19, 24; 92:7,
12; 93:6; 112:19; 123:18;
127:22; 128:6, 8, 24
Chentow's 47:24; 49:23;
62:11
chest 5:17; 7:10; 27:5;
28:21; 29:2, 19; 30:9, 12,
19; 31:6; 35:10; 36:20;
37:6, 8, 10, 22, 23; 39:5,
10, 11, 21; 40:19; 50:3;
51:1; 57:9, 24; 58:3; 61:10;
64:10, 11; 71:11; 74:5, 19;
109:15, 21; 113:17, 19;
124:1, 17; 125:4, 7
chose 104:15
Chronic 8:19
circled 106:23, 23
circumstance 39:4
circumstances 59:15;
79:1
circumstantial 26:16
cite 21:24; 22:4
cited 22:13
CK 28:23; 105:8, 10, 17;
107:13, 13
CK-MB 106:24; 107:10,
15; 108:7
CKs 108:4; 109:22
claim 129:15
claims 130:7
clammy 56:24
clear 20:13; 30:8; 88:19;
103:21; 123:23
clearly 115:12
Cleveland 3:21; 102:1
clinical 42:7; 63:4; 86:11;
100:21; 109:15, 17;
125:14
clock 130:24
close 103:5
closer 83:19, 24
closing 106:10
clot 58:1
cold 39:17
collateral 23:11
collaterals 34:21
colleagues 71:6; 73:3
collusion 8:24
combination 33:21;
110:9
coming 110:5
command 71:22; 72:2;
127:2
comment 93:20; 113:22;
129:18
comments 67:21; 97:24;
113:5
Commission 134:14

common 39:17; 51:2, 3; 52:9; 109:20
commonly 9:22
communicate 57:20; 125:15; 126:4, 13, 23; 127:1
communicated 44:6, 9, 10; 57:16; 58:23; 59:9; 62:17; 74:9, 17; 77:10; 113:11; 126:2; 127:5, 6
communicating 83:4; 126:11
communication 57:23; 66:4; 67:24; 69:1; 73:6, 8, 10, 24; 74:4; 76:6; 78:6, 12; 85:2; 112:19, 20
communications 114:14
community 52:12
compare 116:24
compared 26:12; 118:12, 17, 24; 119:5
comparing 116:14
comparison 120:1
comparisons 55:1
compatible 97:20
compendium 18:12
competent 86:21; 131:9
complaints 42:17; 44:8
complete 27:20; 42:24; 111:2, 3
completely 24:6; 70:20
complication 52:9; 94:3
Compromise 8:7
concluded 131:14
concrete 20:23
condition 9:7; 66:1; 105:3
csnditions 32:18
conduct 18:24
confer 19:8
Conference 113:16
conferences 17:9
confidential 10:11
confirm 28:13
confirmatory 109:24
Congestive 9:20, 21; 35:7, 8, 11
connection 9:6; 10:2; 11:13; 19:1
consensus 22:10
consider 61:9; 100:7, 14, 17, 18; 119:11
considered 89:11
consistent 64:14; 125:6, 8, 9
consortium 6:15, 17, 22
constitute 43:17
Consult 19:5
consultation 17:9; 60:9; 61:1; 62:2
consultations 60:19
consulting 61:2

contact 38:20; 62:8, 9; 68:15, 17; 69:6; 71:24; 81:17
contacted 17:16; 62:18
contain 55:10
contained 53:22, 22; 59:23
content 55:11
context 61:9; 62:1, 1; 69:11, 21; 76:16; 92:24; 93:1; 127:3
continued 113:22
continuing 88:9
contractual 39:19
contractually 39:19
contraindication 75:14
contraindications 89:8; 101:19; 121:8
contribute 24:11
contributed 89:23
conversation 112:12; 113:10; 114:6
convey 126:20; 127:12, 21; 128:5, 7
conveyed 77:1
convinced 58:22
cool 56:24
cooperative 22:8
copies 11:8; 54:2, 2; 111:10; 115:21, 23
copy 7:2; 54:15; 55:21; 111:12; 114:9
Coronary 8:11, 19; 16:15; 17:7; 25:8; 30:6; 33:14; 37:4, 23; 49:19; 53:9; 54:5; 64:12; 66:14; 67:14; 70:5, 11, 12, 17; 109:21; 125:5
correctly 21:20; 26:24; 46:20; 58:21; 79:6; 104:19
couldn't 29:8; 72:1; 81:17
coumadin 113:23
counsel 3:18; 134:3, 5
country 50:20; 100:22
County 134:12
couple 81:18; 110:22; 111:4; 125:2
course 15:8; 24:3; 127:21
courses 34:17
court 15:15; 18:1; 94:18, 19; 96:4; 102:4, 22; 131:9
cover 13:20; 15:4; 71:9
covered 51:24
covering 13:14; 44:18; 51:22; 53:13, 18; 71:7; 113:21
covers 6:23
coy 41:14
crisis 64:8
criteria 52:20
critical 55:3, 22; 61:8; 64:1; 66:14; 80:22; 94:11;

110:4; 120:16; 121:10; 122:22; 125:24; 127:23; 128:9
criticism 48:10; 75:16; 88:7; 90:20
criticisms 88:20; 121:16
criticize 78:24; 79:9; 98:4, 12, 23; 99:16
criticized 79:3
criticizes 97:3, 12
criticizing 47:22, 23; 77:7; 78:23
cross 91:5
crucial 43:2
CSR 134:11
curb 60:9, 14, 18; 61:1, 13; 62:2
current 51:8, 9
CV 24:13

D

daily 17:7
damage 9:13, 22; 20:15, 24, 24; 21:5; 23:2; 24:1, 4, 7, 7, 17; 29:5, 5, 11
data 9:8; 20:12; 21:2; 26:20, 22; 27:17; 28:13; 32:1; 82:20, 20; 106:17; 122:6, 11
date 20:18; 36:8; 118:19
dated 90:16; 111:7
day 29:18; 39:15, 16; 102:6, 7, 15; 103:4; 131:21
days 103:5; 106:8
dead 21:13; 33:23
deal 6:24; 7:20, 24; 8:23; 9:10; 39:13, 13; 65:5
dealing 40:10
deals 7:14; 8:20
dealt 5:12, 15, 16
death 28:18
debate 76:8
decide 39:15; 91:12; 94:19; 96:5, 21
decision 6:7; 40:11; 51:4; 69:2; 80:15, 23; 89:22, 23; 122:7
decisions 45:8
decline 106:5
Defendant 6:3; 17:13, 15; 128:23; 129:5, 24
Defendants 128:17
deficiencies 67:22
define 100:9
defining 27:17
definition 44:1, 2; 100:13
degree 31:15, 24; 32:11; 103:16, 24; 104:20; 105:1; 110:3
delayed 80:23
delivered 66:3
demise 32:2; 89:24

denied 110:17
departed 4:9
departments 52:23
departure 123:17
departures 93:21
depend 91:12
depending 21:3; 33:18; 46:3; 53:19; 102:5; 105:16, 19; 120:1; 125:23; 127:3
Depends 18:2, 4; 34:18; 38:22; 70:19; 100:9; 102:11; 106:5; 119:15; 126:8
depos 112:15
deposition 3:7; 6:10; 10:17, 21; 11:2, 4, 5; 17:23; 37:20; 52:5; 73:12; 76:9; 83:18, 19; 111:1; 112:12, 14, 24; 113:3; 115:6; 121:4, 6, 7; 131:7, 12, 14; 134:2
depositions 3:10; 5:7, 9; 79:17; 88:11
depression 9:24
derive 67:3
Describe 16:14; 22:21; 24:12
described 9:11; 61:10
describing 22:21
description 14:14; 62:14, 15
destruction 105:11
detail 43:1; 74:2; 96:10; 112:16; 121:15; 123:19
detailed 112:16
details 6:2; 53:19; 66:16; 74:3; 75:11
deterioration 25:1, 3, 6
determinant 9:12
Determinants 8:6, 17; 9:12
determinations 29:2
determine 77:3; 110:7
develop 34:22; 68:23; 84:20; 106:9
developed 8:13; 25:4; 64:11; 70:23
development 31:4; 34:21
develops 71:11
deviated 64:3
deviates 83:1
deviation 89:4
diagnose 101:5, 6; 109:12
diagnoses 30:15
diagnosis 101:9
diagnostic 107:12; 125:9
diathesis 121:19
didactic 17:9
die 21:19
died 23:1; 32:6; 80:21; 94:2

dies 28:19; 81:9
diet 34:22
difference 41:4, 9, 20; 65:21; 66:21
differences 118:5, 6
different 34:17; 42:1; 44:5; 49:13; 61:20; 77:18; 119:3; 127:10
differential 30:15
differently 49:3
difficult 114:12
digits 118:1
direct 7:15; 9:2; 41:14
directly 5:15; 7:14; 71:13; 80:18; 97:7, 9; 98:24; 125:15, 24; 127:6
director 9:17; 12:2; 16:15, 20
disagree 77:13, 17, 23; 86:23; 87:4; 122:17; 123:11
discrepancy 116:19
discuss 65:7; 122:11
discussed 75:4
discusses 9:21, 23
discussion 38:17; 48:18; 77:12; 110:12, 21; 114:4
discussions 9:2; 102:13
disease 27:9; 30:7; 37:5; 55:6; 100:24; 109:22
Disparate 8:22
disputes 113:19
dissolving 58:1
distance 102:5, 11
distant 118:18
disturbed 37:8
Doctor 3:4; 4:23; 9:4; 11:9, 11, 14; 16:11; 27:4; 32:16; 35:22; 37:1; 38:23; 39:2; 40:4; 47:13, 23; 48:10; 49:17; 51:13, 15; 53:12; 56:2; 59:11; 60:4; 63:10, 18; 64:1; 69:13; 70:18; 73:18; 75:8; 80:5, 20; 83:5, 15; 84:11, 12, 15; 85:5; 93:4; 94:14, 24; 95:15; 97:6; 104:12; 110:14, 22; 116:6, 11; 117:18; 120:15; 122:14, 16; 124:13; 125:17, 21, 22; 126:4, 19, 21; 127:12, 13; 128:12, 14; 130:22; 131:6
doctor's 15:20
doctors 12:12, 22; 40:8; 70:13; 91:8; 125:12; 130:15
documented 30:23; 106:18
documents 85:8; 95:4; 121:21; 129:7, 9
dollars 17:24
done 24:2, 21; 29:11, 21; 46:12; 48:7; 49:13; 80:8; 84:2, 16; 94:7; 102:8; 118:8, 9

doses 46:3; 49:11;
124:18
down 31:17; 39:2; 40:15;
56:16; 60:20; 77:21;
102:20; 104:23; 108:11;
115:17; 117:1

Dr 3:5; 36:12, 17; 37:1,
12, 14, 16, 19; 38:5, 13,
13, 16, 17, 19, 20, 21;
40:16, 17, 21, 24; 41:1, 2,
2, 3, 5, PO, 10, 23, 24; 42:4,
21; 43:15; 44:7; 45:11, 16,
17, 19; 46:11, 17, 21; 47:5,
19, 23; 48:7, 21; 49:18, 23;
52:5; 54:6; 55:12, 24;
57:16; 58:21; 60:9, 13;
62:5, 9, 11; 63:11; 64:2,
12; 65:9, 12, 14, 19, 24;
66:2, 5, 9, 18, 24; 67:1, 8,
9, 11; 68:1, 4, 20, 21; 73:6;
74:1, 17, 22; 75:16; 76:10,
22; 77:23; 78:7; 79:3;
80:14, 22; 81:17, 18, 20,
22; 84:16; 85:17, 18, 19;
86:3, 5, 12; 87:4, 5, 10, BO,
11, 12, 21, 22; 88:6, 7, 20,
21; 89:2, 19, 21; 90:1, 1, 3,
10, 10, 16, 18, 21, 21;
91:8, 9, 18, 19, 24, 24;
92:6, 6, 12, 17; 93:5, 11,
16, 20, 21; 94:1, 6, PO, 11;
97:2, 4, 9, 11, 12; 98:1, 3,
4, 12, 19, 23; 99:16, 20;
111:19; 112:19, 21; 114:9,
16, 19; 115:3; 121:17, 22;
122:17, 18, 24; 123:11, 15,
16, 18; 127:22, 23; 128:5,
7, 8, 23, 24

dramatic 119:23

drew 29:13

drive 114:18; 115:16

driving 60:20

dropped 6:9

drug 8:15; 58:1; 69:3;
80:16

drugs 7:22; 9:3; 22:6;
46:9

dry 57:5

due 27:8

Duration 8:22

during 23:1; 27:11; 28:1;
58:2; 113:1, 6, 10

duties 41:4

Dyke 38:13, 16, 20;
40:18, 21; 41:1, 1, 3, 10;
42:21; 43:15; 44:7; 45:11,
16; 57:16; 58:21; 62:9;
63:11; 64:12; 65:9, 14, 19;
66:5, 10; 68:1, 4; 73:7;
74:1, 18; 75:16; 76:10, 22;
77:24; 78:7; 79:3; 80:22;
81:17; 84:16; 87:5; 88:7,
20; 90:1, 21; 91:18, 24;
92:6, 17; 93:11, 16, 22;
94:11; 97:12; 98:4, 13, 20,
24; 99:16, 20; 112:21;
114:16, 19; 127:23; 128:7,
23

Dyke's 64:2; 66:18, 24;
67:8, 11; 74:22; 88:22;
89:21; 97:4; 111:19
Dysfunction 8:21

E

E-mail 127:19

each 18:22; 24:15; 55:3,
18

earlier 100:11; 111:5;
124:20

early 24:3

easiest 111:13

ECG 113:18

echocardiogram 26:17;
33:12

edema 52:4, 8

educate 60:23

educated 24:22

Education 12:2

Effects 8:20

eight 4:24; 7:23; 11:24;
69:24

either 15:12; 41:10;
55:10; 56:19; 67:5; 75:22;
81:5; 85:15; 118:11;
125:23; 134:5

ejection 9:14; 20:20;
21:7, 8, 10; 24:2; 25:16,
20; 26:8; 34:7, 8; 35:1, 13,
16, 19, 23, 24

EKG 30:21; 36:19; 37:8,
11, 15; 38:14; 39:1, 3, 24;
40:1, 1, 13; 41:12; 45:18;
46:14, 16, 17; 53:21; 54:9,
13, 15, 18, 20; 55:4, 10,
11, 18; 59:13, 16, 19, 23,
24; 60:5; 62:7, 8; 74:19;
75:24; 78:1, 8; 79:6; 80:7;
109:16; 114:1, 21; 115:13;
117:9, 15; 118:3, 8;
119:17, 17; 120:4; 121:23;
124:3, 5, 19, 20; 125:22;
126:4, 14; 127:12

EKGs 54:23; 62:12; 80:7;
84:5, 14; 116:11, 13, 20;
117:7, 7; 118:14; 119:7;
126:12

electrocardiogram
28:16; 30:17; 38:7, 8, 9,
11; 39:6, 11; 40:19; 61:11;
62:20; 63:3; 74:6

electrocardiograms
38:1, 2, 3; 97:17

electrocardiograph
97:15

electrocardiographic
64:14

electrocardiogram 38:1

elevated 29:4; 30:24;
105:15; 106:17, 24;
107:10, 11, 20; 108:3, 9,
11; 109:1, 22

elevation 28:23; 107:14;
110:3; 120:7

elicit 18:8

else 24:21; 25:10; 44:20;
59:12; 76:20; 81:14; 91:9;
109:13; 120:12

emergencies 12:18;
13:17; 14:20; 37:21; 51:1;
52:4; 71:18

emergency 15:2; 37:23,
24; 39:10, 10, 12; 41:16;
43:9, 10; 44:19; 45:8; 50:3,
17, 17; 52:6; 62:14, 18;
65:1, 4; 67:13; 68:3, 19;
69:5; 82:15

emergent 72:11

emergently 72:24

employees 90:8; 91:20;
92:1, 15, 16; 93:9

end 20:24; 26:6

enlarged 57:10

enlargement 35:10

enough 122:6

entered 35:1

entire 59:6

entitled 9:19

enzyme 105:13; 110:3

enzymes 27:15, 21;
28:20, 23, 24; 29:3, 13;
30:24; 105:7, 8, 10;
106:18; 107:1, 2, 10

episode 58:2

essence 74:15; 113:11;
116:17

essential 97:22

essentially 30:18; 36:24;
97:18; 117:17

established 15:22; 83:15

estimate 5:6

et 17:9

ethically 39:19, 19; 61:19

etiology 27:6

evaluate 6:6; 36:19; 37:9,
13; 38:24; 39:21, 24;
46:24; 61:13; 62:6; 82:17

evaluation 25:10

even 23:10; 26:9, 10;
32:11; 39:7; 40:1; 65:16;
69:7; 75:11; 100:19;
104:15, 23; 107:20;
130:12

evening 36:13; 116:22

event 30:4; 36:13; 134:5

events 123:3

every 14:19; 37:18;
48:10; 61:21; 70:11, 12;
71:15

everyday 71:18

everything 18:18; 24:21;
100:5

evidence 27:21; 29:8, 16;
35:9; 57:5; 110:1

evolving 101:20

exact 6:1

exactly 68:10; 76:19;
96:20; 103:12

exam 35:8, 9; 44:13; 45:6;
56:8; 57:4; 59:7; 75:24;
82:21; 110:10; 122:10

EXAMINATION 3:6;
55:23; 56:3; 57:19; 58:17;
63:24; 91:5; 100:11

examine 40:20; 46:13;
56:21

examined 56:12; 65:12;
66:2; 74:11

examining 59:2

example 9:19; 21:17;
24:17; 48:20; 49:7;
121:20; 125:16; 126:10

exception 5:4

excess 124:1

exchange 6:19

exchanged 73:11; 78:11

excuse 57:21; 96:12;
110:11

exercise 25:24

exercises 87:3

exist 97:16

exits 11:6

expect 34:24; 35:6;
41:10; 50:5, 7; 54:9, 12;
55:9; 71:20

expectancies 21:3

expectancy 9:6; 10:3;
19:8, 23; 22:18; 31:16;
35:15, 20; 36:1; 103:10,
16; 104:18, 21; 105:2

expected 55:24; 57:18

expects 41:3

expenses 102:16

exper 98:2

experience 3:10; 59:11;
66:14; 67:1, 13; 71:1

experienced 24:24;
25:2; 70:17

experimental 7:15; 8:19;
100:21

expert 19:14, 18; 47:4;
63:14; 96:6, 17; 98:3;
100:8, 10, 12, 13, 15, 17,
19; 121:16

experts 85:10, 21; 88:10;
90:23; 98:23

expires 134:14

Explain 64:9

express 99:12

extended 70:1

extent 18:4, 18; 24:6, 17;
33:2; 42:17; 44:8; 53:18;
68:8, 10; 73:5, 10; 104:14;
123:2; 127:18

extra 13:20; 14:22

extremely 24:24; 51:2, 3;
60:18; 131:9

F

fact 12:1; 17:2; 24:4, 22;
26:13; 47:14; 51:13; 52:8;

55:13; 63:18; 66:2; 71:17;
75:17; 77:9; 78:18, 24;
79:8; 83:13; 88:19; 96:10;
100:22; 106:14; 121:15;
123:24; 124:14, 15

factor 71:2

factors 23:21; 24:10, 16;
34:5, 18, 23; 53:20; 58:16,
20

facts 51:14; 65:19; 67:16;
73:23; 83:6; 124:8

faculty 12:5

failure 9:15, 18, 20, 22;
16:18; 19:21; 21:10; 25:4;
26:7; 35:7, 8, 11; 101:1

fair 11:18; 53:15; 95:5

fairly 52:9

fall 106:8

falls 52:7

familiar 19:13, 18; 52:13,
22; 53:14, 17

family 122:12

far 27:13; 28:2, 6; 29:7;
58:6; 88:3; 106:16;
114:14; 115:15

farther 83:9

fascinating 60:22

fashion 41:14; 84:17;
114:24

fatal 6:8; 114:2

favor 26:1, 15

FAX 83:4; 84:5, 14; 85:4

FAX'd 54:17, 24; 62:12;
114:1

February 129:13

fee 18:6, 8

feel 57:7, 8, 9

feeling 57:6

fees 17:21

fellow 12:8; 36:5; 71:12;
86:6, 11

fellows 12:6, 21, 23;
13:14; 14:2; 86:8

fellowship 17:1; 86:15

few 40:16; 79:18; 82:13

fibrillation 62:20, 22

figures 103:1

file 73:18; 110:24; 111:1,
2, 12; 114:9; 115:22

final 97:17

find 27:20; 29:8; 82:23;
106:17; 126:16

finding 109:20

findings 33:18; 35:8;
109:17; 125:18, 22; 126:4,
6, 9, 14; 127:12

fine 32:21; 39:4; 40:13,
15; 48:13; 60:24; 68:19;
111:15; 117:6

fingers 123:10

finish 91:21, 22

finishing 86:15

firm 6:21; 123:4

first 3:14; 22:5; 24:23;

26:4; 30:8; 38:8; 44:19;
55:5; 56:6; 70:15; 72:5, 13,
16, 18, 20; 89:3; 107:8, 24;
108:9; 110:15; 114:6;
117:13
five 4:6, 13, 16, 16; 5:10;
17:24
flagged 107:7
flow 23:11; 33:20, 23;
56:23
fluid 57:5
focus 56:5; 58:13; 94:1;
98:19; 112:14; 129:7, 11;
130:14
focused 9:17; 43:1; 45:6;
56:8; 61:24; 99:8; 120:21;
129:16
folks 50:20
follow 23:15; 96:15;
125:2
follow-up 104:6, 8
Followings 8:18; 124:16
forces 23:12
foreign 131:11
forgot 83:15
form 42:22; 43:20; 52:16;
120:18
formal 12:14; 14:16, 18;
17:9
formally 63:15
forms 89:10
forth 47:24
found 22:11; 68:20
four 4:15; 5:9, 23; 9:17
fourth 14:19
fraction 9:14; 20:21;
21:7, 8, 10, 18; 24:2;
25:16, 20; 26:8; 34:7, 9;
35:1, 13, 16, 19, 23, 24;
108:5
frame 28:14, 22; 31:23;
32:12; 45:4, 8, 17; 106:10
frankly 57:21
frequently 50:19
fresh 29:16
front 87:19; 111:9
full 44:11; 99:11, 13
function 20:20; 21:4;
26:3; 27:2
fundamental 37:17;
67:22; 99:9
further 46:6; 50:21; 85:3;
116:8; 124:23; 130:18;
134:1
Furthermore 25:2; 97:16
future 32:6; 34:1

G

gave 10:20, 21; 11:4;
99:22
gee 40:17; 59:21; 109:21
general 14:6; 21:2;
51:24; 52:3; 56:5, 9;

109:14
generally 15:8; 23:18;
56:6
gentlemen 131:3, 5
George 90:12
gets 21:18; 81:3; 114:1
given 5:8; 8:14; 16:4;
24:13; 29:20; 30:13;
36:14; 38:7; 42:21; 45:11,
20; 46:22; 47:6; 48:22;
50:15; 58:20, 21; 64:13;
65:10, 24; 68:5; 69:10;
80:11; 89:18; 126:21;
127:12; 128:5, 8
gives 106:11
giving 97:15; 99:10
global 6:20
goes 31:18
good 6:4; 56:23; 79:10;
84:3; 86:12, 20; 88:3;
90:13
Gore 87:10, 12, 21, 22;
89:19; 90:1; 91:9; 97:9;
98:1; 122:17, 24; 123:11,
12
Gore's 88:6; 89:2; 90:16
grants 7:5
Grasgreen 35:24; 80:21;
81:8; 89:9; 101:18;
104:18; 106:14; 123:24;
124:17
Grasgreen's 103:10, 15
grew 70:5
group 6:20
guess 13:20; 61:24;
69:12; 100:9
guesswork 25:11
guide 73:2
guidelines 89:6
guides 34:1
guys 96:18, 21; 131:3

H

hadn't 130:10
half 114:4
hall 40:16
hallway 60:21
hand 26:19; 112:11
handle 50:3; 71:8; 72:11,
18; 89:16
handled 62:3; 70:21
handles 6:15; 71:12
handwriting 111:18;
112:8
hang 119:24
happened 23:4; 29:9;
32:4; 81:8
happening 85:23;
106:11
happens 71:15
happy 22:16; 46:5; 92:4;
96:22; 131:13

hard 25:7; 54:15; 55:21;
110:1; 116:24; 118:18;
119:3; 123:2
hardening 24:9; 27:9;
31:7
hardly 61:13
hasn't 40:18
hat 120:1
haven't 18:7; 63:15;
102:2, 20; 120:21
healing 23:11
heart 8:24; 9:15, 18, 20,
21, 23; 16:17; 19:21; 21:9;
23:1; 24:8, 23; 25:4; 26:7;
27:9; 28:19; 29:4, 17; 30:9,
14, 16, 18; 31:5; 32:5;
35:7, 8, 10, 11; 37:5;
54:21; 55:6, 14, 15; 57:7,
11, 12; 89:9, 15, 20;
100:24; 101:1; 105:7, 11,
14, 22; 106:2, 18; 107:3;
108:6; 109:24; 110:5
herd 48:18; 110:12, 21
help 38:3; 45:5; 59:21;
61:22; 73:2
Hemodynamic 8:6;
109:17
Hemodynamically 8:8
hemorrhage 6:8; 114:2
hence 97:19
heparin 45:22
hereto 134:4
hibernating 24:5
high 26:8; 105:8, 24;
107:18; 108:13, 15
highest 23:3; 30:14
Hillcrest 108:24
himself 37:20; 56:13;
79:23; 81:6, 7; 82:4; 84:24
hire 13:19
hired 13:2, 11
history 9:21; 30:13; 37:5;
44:13, 15; 45:6; 53:18;
56:2; 59:6, 17; 60:3; 75:13,
23; 82:20, 21; 110:9;
122:10; 125:5
hold 43:12; 124:7
home 71:10; 83:3, 5, 7,
10, 19; 84:1, 4; 114:1
hope 61:19; 74:10
Hopefully 44:17
Hospital 13:11; 14:12;
16:16; 18:14; 20:5, 6; 27:3;
28:1; 35:1; 43:7, 10; 52:13,
19, 21, 23; 54:1, 24; 59:12;
60:4; 64:12; 65:8; 66:15;
81:5; 82:10, 12; 83:9, 10,
20; 84:1, 3, 21; 90:8;
91:19; 92:1, 15, 16; 93:8;
105:19, 19; 106:15; 109:1;
110:19; 112:22; 114:18;
115:16; 117:8; 122:16, 23;
129:4, 15, 20, 24; 130:3, 7
hospital's 89:6
hospitalization 18:13
hospitals 15:3; 40:5;

52:13
hour 17:22, 24; 114:4;
131:2
hour-and-a-half 130:20,
21
hourly 18:6
hours 44:22; 106:7;
113:18; 130:21
house 11:14, 14, 15, 23;
12:2, 6, 9, 11, 12, 13, 16,
17, 19, 22; 13:8, 12, 12,
17, 21; 14:4, 9, 10, 17;
15:7, 10, 21, 23; 16:22;
36:18; 37:9, 17, 18; 38:5,
24; 39:7, 8, 20; 41:14;
43:8; 50:1, 24; 51:16, 20,
24; 52:2, 11, 12, 15, 24;
53:8; 59:18; 61:12, 16, 20;
65:3, 3, 11; 68:16; 71:23;
75:1; 81:12, 13, 21, 22, 24;
113:19; 115:10, 10, 13
hundred 17:24
hypertension 113:22
hypotensive 62:24
hypothetical 124:9;
128:1
hypothetically 78:2

I

idea 33:24
ideal 82:9
ideas 61:7
identical 119:2
identified 58:16
identify 7:2; 8:3
Illinois 5:21; 6:15, 21
imaging 8:12
immediately 81:13;
130:11
impaired 21:4; 57:9
impairment 9:14; 26:3
implied 88:23
implies 71:4
imply 14:11; 97:6
importance 118:6
important 22:5; 38:18;
71:2; 75:5; 125:14;
126:14, 22
Impossible 23:9
improve 22:7; 23:12;
25:13; 34:8
improved 26:23; 27:2
improvement 25:7, 20,
21
inappropriate 84:6, 14;
93:5
include 7:8; 12:11; 18:12
Included 85:9
includes 7:21
including 14:23, 24;
58:17
increase 47:1; 124:3, 18
increased 107:2, 20

incredible 40:7
indeed 89:9
independent 73:16
index 107:17, 18, 18, 21;
108:13, 14
indicate 89:7; 106:2, 24;
107:9, 15, 17
indicated 83:17
indicates 105:20; 121:18
indicating 124:4
indication 89:21
indications 51:5; 97:15
indicative 109:3; 119:21;
124:12
indicator 105:10
indirect 57:22; 68:15, 24;
69:6; 85:1
Indirectly 97:5; 126:1, 2,
3
individual 43:12; 70:24;
72:1; 101:22
individual's 45:1
individuals 70:19; 85:13,
15
infarction 5:17; 7:11, 16,
20, 21; 8:7, 9; 9:9; 19:20;
20:14; 24:3; 26:14; 27:16,
22; 28:13, 15, 17, 17; 29:6,
10, 12, 16, 22; 30:23; 37:7;
46:15, 19; 50:4; 51:3, 6;
52:7, 9; 64:15; 69:5; 72:19;
74:7; 80:11; 94:5; 97:19;
101:1, 21; 105:21, 22;
106:14; 107:16, 22; 109:4,
6, 10, 12; 110:2; 119:1;
124:12
information 4:8; 10:11;
20:22, 23; 21:22; 26:16,
19; 32:24; 33:10, 11, 14,
20, 22; 35:14; 36:4, 4;
42:5; 44:6; 45:3; 51:14;
57:15; 58:20, 22; 59:4;
64:13, 17; 65:10, 11, 24;
67:2, 11; 68:5; 69:10, 13,
20, 21; 70:9, 10, 18; 73:2,
11, 20; 74:17; 75:9, 17, 21;
76:14; 77:1, 6, 10; 78:2, 5,
11; 79:22; 80:2; 82:6; 87:8;
104:14, 24; 105:2; 106:11;
109:8; 114:5; 120:24;
123:19; 125:11, 14;
126:12, 20; 128:8; 129:3, 6
inhouse 15:4; 114:21
initial 23:24; 26:14;
30:16; 32:4; 43:6; 73:8;
112:2; 113:2, 6, 10; 117:15
initially 55:16; 121:5
initiated 47:12
injury 106:22
innocent 119:21
inquire 66:6; 74:24; 77:6
inquired 76:17; 77:11,
24; 82:14; 104:13
inquiries 77:8; 79:18
inquiring 79:5
inquiry 59:22; 77:2, 7;

78:18; 79:4, 8, 9, 12, 15, 23
instance 24:15; 42:5; 52:22; 84:7; 127:20; 128:4, 7
instances 60:2
institution 11:23; 12:3, 15, 24; 13:18, 19; 14:4; 16:23; 37:19; 88:1, 3; 90:11, 13
institutions 12:4; 14:23; 70:1, 4
Insurance 6:22, 23
insures 6:20
intellectual 61:4
intend 91:6; 101:24
intensive 54:5
intercerebral 6:8
interest 60:23
interested 7:6; 117:6; 134:5
interesting 59:20; 60:22
interim 23:5; 80:20, 24; 81:9; 82:24
interinsurance 6:19
interior 124:4, 19
intern 14:21
internal 14:2; 15:12; 16:24; 100:17
internist 100:16
interns 12:13, 20, 23; 13:13, 15, 22; 14:1, 17; 16:23
interpret 60:5; 62:11; 113:14; 114:12
interpretation 123:3
interpreted 74:7; 97:20; 107:19
interrupt 15:17; 23:15; 58:5, 7
interrupt 18:15
interval 31:6; 66:9
intervention 65:2
interventions 23:10
into 20:5, 6; 23:21; 27:3, 24; 31:18; 40:5; 47:7; 49:9; 93:17; 97:11
intracranial 114:2
introduction 38:8
introductory 92:10
inversion 120:10
inversions 120:13
involved 4:22; 5:19; 17:7; 39:9; 70:6; 102:5, 12, 13
involvement 11:22; 64:2
involving 13:23
Irrelevant 51:17
irreversible 24:1, 6; 29:5; 34:20
Ischemia 8:23; 30:22, 23
ischemic 7:20; 8:21; 27:8; 29:23; 30:4, 16, 20, 24; 31:1, 4; 37:5; 55:6
issue 5:12, 18, 24; 8:20;

24:1; 30:10; 40:10; 44:18; 47:20; 53:5; 57:20, 21; 63:13; 97:23; 99:9; 110:4; 112:17; 125:24
issues 66:22; 89:14; 94:2; 129:12
items 18:22; 57:14
itself 29:17; 75:12; 102:14; 127:4

J

JACKSON 63:8, 10, 11, 20, 24; 65:18; 67:20; 68:4, 10; 69:18; 73:18; 75:8; 77:16, 19; 78:15; 81:2; 83:13, 17, 24; 84:13; 86:3; 87:4, 11, 15; 88:14, 21; 90:5, 7, 24; 91:2, 6, 11, 14; 93:1, 4; 94:18, 21, 23; 95:8, 12, 15; 96:3, 13, 20; 97:2; 98:11; 99:3; 101:8, 12, 15; 103:14, 20, 22; 104:4, 7, 12; 107:4, 8; 108:8; 109:13; 110:13, 22; 111:22; 115:20, 24; 116:8; 122:15, 22; 124:13, 23; 128:13, 15, 20; 129:2, 23; 130:6, 14, 18
January 18:20; 85:6; 111:7; 112:4
Joan 134:11
job 6:6; 14:14; 38:10; 39:7; 40:4; 57:19; 62:1, 14, 15; 80:8; 94:8
Joel 87:12
John 3:4; 4:23; 11:7; 15:17; 32:15; 47:17; 63:5, 11; 118:21
Jordan 66:19; 67:12; 68:6; 69:10; 73:6; 74:1; 78:7; 80:13, 14; 93:10; 123:20
Jordan's 66:13
judge 96:5
July 90:17
June 3:2
jurisdiction 131:10

K

keeping 51:8
key 33:10; 46:11; 89:13; 94:2
kind 57:17; 69:6; 92:2; 116:13, 19; 119:17; 126:17
kinds 26:15; 39:16; 109:18
knew 17:20; 25:8; 86:14; 130:12
knowing 70:24
knowledge 15:20; 42:20; 46:21; 51:11; 53:10
knowledgeable 51:10

known 44:15; 72:8; 80:10; 121:19
knows 76:19; 104:10; 130:4
kosher 10:10

L

lab 17:8; 107:9; 126:24
laboratories 108:24
laboratory 16:19; 61:4; 86:11; 105:18; 106:17; 125:17
Lach 60:9; 121:22
Back 36:9; 67:22, 24; 74:16
Lack's 60:13
laid 120:23
Lamsndry 10:23
last 4:4; 5:2; 10:5; 15:20; 19:16; 97:13; 123:23
later 63:7; 94:19; 108:16; 113:18; 114:2; 118:4
latter 8:23
law 6:21
lawyer 130:2
lax 121:18
lay 105:22
leading 116:13
leads 9:15; 119:1, 4, 4
least 20:21, 22; 21:1; 72:15; 115:10; 119:2
leave 32:22
lecturing 100:22
left 9:13, 24; 20:15, 19; 21:4; 26:3; 27:1; 86:17; 97:20
legal 63:13; 96:18; 128:17, 19; 129:4, 7
less 21:11; 25:6; 26:8; 29:14; 35:1, 2, 13; 55:22; 123:9
letter 18:20; 89:2; 90:10, 16, 18, 21; 91:17; 93:20; 94:1, 7, 10; 97:3, 11, 24; 111:6; 112:3
letters 94:22; 95:19; 99:12; 122:20
level 15:9; 21:4; 23:2; 39:13; 49:20, 21; 50:4; 51:11; 56:11; 61:21; 105:24; 107:12; 108:12
liabilities 6:23
licensed 50:2
lies 89:13
life 9:6, 23; 10:2; 19:8, 23; 21:3; 22:18; 31:16; 35:15, 20; 36:1; 65:2; 69:3, 4; 103:10, 15; 104:17, 21; 105:1
liked 33:1
likely 22:18; 23:7; 25:3, 6; 29:14; 34:8, 12, 15
limit 102:20

limitation 33:20
limitations 32:17
limited 21:12
limits 113:18
list 8:1; 74:24; 75:10, 11, 13; 85:8, 9
listed 8:2; 52:5; 112:3; 118:17
listen 57:10
listening 57:4
lists 18:21; 30:15
literature 9:9; 19:3, 11; 21:23; 22:1, 13; 51:8, 10
little 33:2; 63:6, 7; 67:2; 73:13; 110:2; 113:7; 117:24
live 116:1, 3
locale 83:23
location 24:7; 34:19
logic 77:18, 18
long 4:12; 10:7; 24:23; 70:3; 103:2; 114:18; 115:16
longer 29:13
look 29:16; 37:11; 40:1; 45:18; 46:13; 47:8, 9; 56:2, 17, 18, 19; 57:6; 62:7; 104:15; 114:3; 120:3
looked 20:19; 22:6; 100:5; 129:10
looking 7:15; 37:24; 56:9, 22; 57:1; 87:18; 116:11; 117:13
looks 40:4; 62:21; 79:17
lost 105:3
lot 7:19; 9:11; 16:16; 30:11; 34:18; 93:3; 96:14; 100:21; 119:19
Louis 13:7
lousy 78:12
lst 3:2
lungs 57:4

M

m.d 72:6, 14; 113:21; 114:13, 13, 22; 115:14
machine 85:4
magnitude 9:13
mail 127:16
maintain 105:24
major 9:11, 12; 25:6; 57:20; 75:14
makes 24:23; 93:11
making 77:7; 79:3; 80:23
male 113:17
malpractice 3:11, 12, 15
man 35:19; 124:20
man's 35:18
manage 45:5; 64:7
management 7:21; 73:2
manner 62:3
many 4:1, 5; 5:14; 6:20;

9:10; 14:23; 15:3; 18:7; 22:10, 10; 24:10, 10, 14; 25:9; 31:13; 33:15; 34:17, 23; 53:20; 100:4, 23; 101:2; 103:1
mark 111:11; 114:14; 115:20
marks 113:4
Martin 134:11
Massachusetts 88:2
materials 9:5; 94:24; 96:9; 100:5; 112:2, 5; 113:3
matter 12:7; 38:9; 47:14; 51:7; 74:13; 81:15, 16, 18; 82:13; 88:11; 110:4; 121:14
max 102:7
May 15:17; 18:15; 21:3; 23:12; 36:8, 13; 38:7; 48:15; 49:15; 51:19; 55:22; 57:9, 10; 61:3, 5, 20; 62:17; 63:6; 77:17, 18; 78:9; 91:21; 104:10; 116:14, 14, 21; 117:9, 14, 16; 118:7, 14, 15, 16; 119:7, 16; 120:3; 123:8; 131:11
Maybe 10:21; 59:12; 111:12
MB 105:12, 17; 108:5
meager 75:20
mean 4:7, 10; 6:17; 13:2; 19:10; 20:8; 23:15; 25:15; 27:18; 30:20; 31:7; 43:23; 50:10; 51:15; 52:22; 57:1, 24; 58:4; 60:15; 101:5; 106:1; 107:3; 119:4; 126:9, 24
meaning 34:12
means 15:23; 28:9, 18; 45:3, 5; 61:2; 63:8; 71:5
meantime 64:23; 68:21
measure 28:21; 56:17, 19, 20
measuring 118:1
Mechanisms 8:22
medical 12:3; 16:21; 17:3; 18:24; 19:3; 21:23; 27:23; 31:15, 24; 32:9; 34:4; 44:14; 46:14; 52:3; 53:15; 54:5; 56:3; 59:7; 65:5; 66:3; 82:21; 88:3; 90:13; 103:17, 24; 104:20; 105:1; 129:11, 18
medically 61:20
medication 47:1, 9, 24; 48:22; 49:6
medications 49:10
medicine 14:2; 15:12; 16:24; 19:12; 51:20, 24; 52:3; 68:14, 14; 70:3; 100:7, 14, 17
medicines 34:23; 46:3, 7; 47:11
member 12:5
memory 73:20; 87:3;

122:3
mental 56:10
mention 90:1, 3, 7; 91:23, 24, 24; 92:6, 6, 9, 12, 15, 22, 23; 93:16; 99:20
mentioned 16:20; 56:1; 88:22; 93:3
mentions 92:17; 93:5
merely 119:2
Meridia 18:14
met 4:9; 15:11
MI 27:10, 24; 80:21; 105:7; 113:20, 22; 114:2; 124:4, 19; 125:7, 8
Michigan 3:1; 4:19, 24; 17:5; 134:12
might 27:18, 18; 39:4; 44:18; 99:16; 111:13; 125:16, 20, 21; 126:1; 131:8
millimeter 120:7
mind 27:6; 32:13; 46:4; 49:23; 116:11; 126:19
Minimal 119:11
minimally 108:10
minute 81:15, 16
minutes 39:3; 40:15, 17; 81:19; 82:13, 24; 118:4; 124:2, 2, 17; 130:22
mischaracterization 124:8
misleading 107:21
Miss 88:15
missing 59:1, 5, 10
Missouri 5:2, 5, 20; 10:21; 16:8
mistake 99:10
Mobile 8:12
modern 32:1; 33:13; 51:11
moment 23:14; 49:13; 64:8
moments 41:11
money 14:22
monitor 56:18, 20
months 4:4, 24; 10:8, 22; 12:1; 69:24
moonlight 13:14, 20; 15:2
more 6:22; 26:13; 34:12, 14, 16; 42:9, 14; 51:9, 10; 59:22, 22; 72:7, 16; 82:2; 100:19; 102:9; 103:3; 104:10; 110:22; 117:24; 119:21; 123:10; 124:17; 125:2; 129:11
morning 117:11, 16; 118:9; 124:5
mortality 9:8; 23:4; 26:9
most 7:13; 9:22; 18:12, 23; 22:5, 12, 24; 25:3; 33:13; 50:15, 19; 60:17; 82:14; 84:17, 17; 97:20; 113:5; 117:6; 118:18
motion 109:16

mouth 56:15; 93:22
moved 126:11
Much 9:8; 18:5; 33:16, 23; 34:19; 43:1; 45:3; 74:1; 101:24; 128:12
multiple 29:2
muscle 24:5; 28:19, 20; 29:17; 33:16, 21, 22; 105:11; 109:23
must 55:5; 76:12; 77:11, 12, 24; 123:6
myocardial 5:16; 7:11, 16, 21; 9:9; 19:20; 20:14; 27:14, 21, 22; 28:13, 14, 16; 37:7; 46:15, 19; 50:4; 51:2, 6; 52:7, 9; 64:15; 69:5; 72:18; 74:7; 80:10; 94:5; 97:19; 101:1, 21; 106:14; 107:16; 109:4, 6, 10, 12; 119:1; 124:12
myonecrosis 27:15
myself 72:22; 100:17, 18; 112:16

N

name 3:4; 6:12; 10:9, 13, 23; 17:20; 63:10; 72:6, 14; 88:22; 90:4; 91:19; 92:6, 7, 9, 12, 16, 17, 22; 93:6, 12; 99:20; 111:17
named 91:18
namely 67:22
names 93:17; 128:18, 21; 131:11
narrowed 33:15
narrowings 24:8; 33:15, 17; 34:20; 110:6
national 52:20
natural 9:21
necessarily 23:20; 30:20; 50:17; 107:3, 15
necessitated 127:8
neck 57:6
necrosis 29:6
need 23:15; 39:12, 13; 40:11, 17, 21, 22; 45:2; 47:15; 59:21; 65:1, 1; 73:21; 82:19, 23, 23; 91:14; 96:18; 109:8, 13, 24; 111:11; 115:20, 21
needed 42:1; 43:13; 84:15
needs 15:5
negotiated 102:21
new 30:21; 54:14, 19; 55:3, 6, 8, 20; 57:12; 76:1, 6, 11, 13; 77:2, 9, 11, 24; 78:7, 19; 79:15; 80:13; 106:9; 113:5, 20
next 12:9; 61:18; 97:13; 117:15
night 12:17; 13:3; 14:13, 19; 71:10, 15
nitroglycerin 45:22;

46:8; 113:17, 20; 124:3, 18; 125:6
Nobody 76:19
non-evasive 110:10
none 25:4
noninvasive 122:11
nonsignificant 119:15
nonspecific 119:20
nor 57:18; 71:19; 79:22; 97:9; 118:6; 134:4, 5
normal 39:3; 40:2; 106:1, 8; 107:14; 108:10, 11, 21; 113:18
normally 21:8; 71:22
normals 105:18
notation 54:12
note 88:6; 90:20; 95:2; 107:6; 111:17, 20; 112:7, 11, 16; 115:17
noted 91:23
notes 47:8; 73:16; 111:8, 16, 20; 112:23; 113:5, 9; 114:10
nothing 19:12; 126:7
noting 55:2
Novel 8:12
November 118:20, 21
nuclear 26:18; 33:12
number 4:13; 5:6, 15; 7:4, 13; 8:2, 6, 8, 10, 17, 20; 9:19; 15:14; 89:7; 95:4; 106:7; 111:8
numerous 22:4
nurse 37:3, 9, 14, 15; 38:12, 19; 40:3, 24; 41:2, 20; 42:6, 21; 43:1, 5; 45:18; 57:16, 18; 58:21; 59:12, 18; 60:4; 61:6; 62:5, 8, 17; 64:18; 65:10; 66:13, 19; 67:12; 68:6, 15; 69:10, 22; 70:17; 71:13, 16, 18, 19, 20, 23; 72:8, 11, 22; 73:6; 74:1, 9, 10; 75:10, 22; 76:15, 16; 78:6; 80:12, 14; 81:4; 85:2; 93:10; 111:11; 112:19, 20; 113:21, 24; 121:1, 10, 18, 19, 23; 122:17, 23; 123:20; 125:17, 20, 21; 126:20, 24; 127:11, 21, 24; 128:4, 6, 9; 130:3
nurse's 36:12; 43:2; 47:8; 67:13; 111:20; 121:3, 7
nurses 40:6, 6; 69:13, 18; 70:5, 8, 14; 82:2; 120:16, 19, 21; 121:16; 125:10; 126:3, 11, 13
nursing 43:3; 123:8

O

Oak 3:1
Oakland 134:12
Object 41:6; 42:8, 22; 43:20; 46:23; 51:17;

52:16; 54:11; 65:15; 68:7; 69:15; 76:18; 77:4; 78:9; 80:24; 81:11; 83:11, 21; 86:1; 87:2; 94:12; 98:7; 99:1; 103:18; 120:18; 124:8; 125:13
objection 32:15; 33:8; 87:14; 88:9; 103:11; 122:19
objections 63:23
obscure 61:4
observation 82:4
obstetrical 51:23
obstetrician 51:22
obtain 33:11; 104:15
obtained 58:24
obvious 32:15
obviously 15:10; 36:6; 51:9
occasions 16:8
Occlusion 8:19
occlusions 106:9
occur 60:15
October 134:15
odd 98:22; 99:19
Off 48:17, 18; 110:12, 21
office 11:1; 17:8
officer 11:15; 15:21, 23
officers 11:23; 12:16, 19
often 22:12
Ohio 3:23
old 28:14; 46:14; 54:14, 19; 55:3, 6, 8; 57:13; 76:1, 6; 77:9; 78:1; 79:5; 80:8; 97:20
on-call 14:19, 22
one 3:12, 18, 21; 5:3, 4, 15, 15; 7:13; 9:11; 12:1; 14:24; 16:9, 9; 17:2; 24:16, 18, 20; 25:21, 22, 24; 26:1; 27:7; 28:9; 35:4, 5, 18; 39:4; 46:18; 51:2, 3; 56:8; 57:3; 67:22; 71:6; 73:3, 8; 77:7, 22, 22; 79:4; 87:12, 18, 20; 89:13; 92:3, 4; 94:1, 12; 96:5; 102:23; 103:1, 3; 108:9, 10, 11, 15, 16; 111:17; 114:4; 116:14, 14, 24; 117:11, 16, 24; 118:4, 9, 12, 14, 15, 15, 18; 119:5; 120:3, 7; 121:9; 123:23; 128:13
ones 87:1; 113:5; 115:8
ongoing 4:14; 19:11
only 4:15, 24; 5:8; 9:9; 11:24; 19:17; 20:23; 28:12; 33:24; 46:12; 58:4, 8, 11, 12; 62:6; 63:17; 69:24; 75:20; 95:2, 17; 102:8; 131:3, 8, 10
open 8:16; 23:10
opening 9:1; 106:10
opinion 4:8; 19:22; 20:1, 1, 12; 21:2; 22:17; 25:12; 27:7, 12, 23; 31:15, 19, 22; 32:9; 34:4; 35:4; 36:2;

47:14; 48:10; 49:12; 60:14; 61:3; 62:10; 66:1; 73:23; 83:2; 84:5; 86:16; 87:16; 90:14; 99:8; 103:9, 15, 16, 19, 23; 104:8, 17, 19; 123:21
opinions 19:1; 22:20; 32:7; 86:23; 87:5, 9; 93:5; 99:12, 13; 122:17, 20; 123:5
opportunities 15:1
opposed 14:16
optimal 55:22; 123:9
order 117:13
ordered 76:12
Oregon 16:9
original 93:19; 118:17
others 8:3; 14:24; 26:12; 126:13; 127:5
otherwise 71:9; 74:21, 22
ought 21:8; 31:19; 47:13; 50:2; 51:3
out 4:18; 10:11; 17:18; 26:5; 37:7; 39:16; 61:22; 74:3; 82:23; 87:3; 89:16; 96:3; 99:16; 102:4, 23; 104:15; 111:1; 115:18; 116:23; 117:13
outcome 121:2
outside 4:22
over 19:12; 21:11, 13; 23:22; 29:24; 30:7; 33:1; 34:10, 22; 44:21; 61:23; 64:15; 106:8; 127:15
own 13:4; 16:22, 23; 17:1; 54:24; 61:18; 82:4; 89:6

P

p.m 3:3; 118:13; 131:14
package 129:2
page 38:15, 16; 89:2, 3; 111:9
paged 38:13; 82:16
paginated 113:4
paging 129:10
paid 13:20; 14:15
pain 5:17; 7:10; 27:5; 28:21; 29:2, 20, 23; 30:4, 9, 12, 16, 16, 19, 20; 31:1, 1, 4, 6; 36:20; 37:6, 8, 10, 22, 23; 39:5, 10, 11, 21; 40:19; 42:17; 44:8; 50:3; 51:1; 56:12; 57:24; 58:3; 59:18; 61:11; 64:11, 11; 71:11; 74:5, 19; 109:15, 21; 110:5; 113:17, 19; 124:1, 17; 125:4, 7
paper 92:21
paragraph 89:3; 90:6; 97:13
parameters 25:19; 102:18; 109:14
part 14:16, 18; 19:16;

78:6; 100:4; 103:4; 129:2
participate 12:7
participated 12:5
particular 14:3; 40:10;
 48:22, 23; 53:5; 78:15;
 112:14; 126:17
particularly 8:14; 9:24;
 12:13; 22:6; 56:21;
 100:24; 112:17
parties 134:4
party 134:5
passage 23:22
passages 95:4, 18
passing 59:13
past 4:15; 5:9; 9:17; 10:8;
 22; 19:12; 67:6, 8; 100:20;
 102:3; 118:18
pathetic 44:1
Pathophysiology 8:8
patient 6:4, 6, 8; 9:7;
 10:14, 15; 11:11; 18:14;
 20:14; 22:18; 23:7, 19, 22;
 25:23; 27:3, 10; 29:24;
 30:6; 33:3; 34:1, 7, 8, 15,
 24; 35:15; 36:14, 19; 37:4,
 10, 13, 23; 38:6, 9, 10;
 39:1, 3, 5, 21; 40:1, 2, 4, 9,
 12, 13, 18, 20, 22; 41:15;
 43:13, 18; 44:24; 45:5, 20,
 21, 23; 46:1, 7, 13, 15, 16,
 22; 48:1, 2, 5, 21, 22;
 55:12; 56:10, 11, 12, 17;
 57:24; 58:18; 59:3, 9, 17,
 2% 61:18; 62:6, 23; 63:4;
 64:2, 8, 10, 20; 65:13;
 66:2, 4; 68:2, 17, 18; 69:4;
 71:11, 14; 72:16; 74:5, 5,
 8, 11; 80:6, 10; 81:6, 7;
 82:17, 19; 84:15, 19, 23;
 85:2, 4; 89:14, 17, 20;
 94:2, 3, 8; 97:4, 16;
 101:17; 109:21; 114:3;
 122:4, 9, 12; 123:1, 24;
 124:6, 16; 125:4, 6, 8
patient's 19:23; 21:13;
 26:1; 31:16; 32:2; 39:22,
 24; 42:7, 16, 17, 17; 43:12;
 44:7, 16; 53:14, 17; 64:24;
 66:1, 7; 68:22; 69:22; 70:6;
 75:23; 89:24
patients 8:14; 9:6; 12:17;
 13:3, 4, 14, 15; 15:5;
 21:19; 23:9, 17, 18; 26:2;
 33:13; 38:3, 4; 40:5; 49:19;
 53:9; 62:13; 69:14, 19;
 70:14; 118:2; 123:7
pattern 106:4, 5; 109:15,
 15; 110:5; 119:16
pause 23:14
pay 131:5
paying 96:16
PE 113:23
peak 60:23
pediatric 51:21
pending 4:1
people 80:9; 90:23;
 91:12; 93:3

pea 4:13, 13, 16; 102:7, 15
percent 17:14, 14; 20:21;
 21:9, 11, 13, 18, 19; 23:3;
 26:8; 35:13, 23, 24
percentage 4:21; 17:12;
 105:17
perform 33:14; 55:24;
 57:19
Performance 8:18; 10:1
performed 61:11; 74:6
perhaps 51:21, 23;
 70:17; 82:5; 120:20
period 58:11; 98:21
periods 70:1
persists 94:19
person 11:3; 60:3; 70:21;
 72:23, 24; 81:22
personal 13:4; 82:4
personally 85:19
perspective 99:8
pertinent 45:7; 75:12, 23
phone 41:11, 22, 24;
 43:16; 64:16; 73:1, 8; 81:3;
 113:21
phrase 20:3
phrased 69:17; 127:14
physical 35:7, 9; 44:13;
 45:6; 55:23; 56:3, 8, 57:3;
 58:16; 59:7; 71:5; 75:24;
 82:21; 110:9; 122:10;
 127:7
physically 44:21; 48:2;
 85:1; 101:13
physician 6:3, 5; 11:14;
 14:10, 12; 36:18; 37:9, 17,
 18; 38:6, 24; 39:7, 7, 8, 8,
 18, 20; 40:14; 41:18, 19,
 22, 24; 43:4, 7, 9, 10, 12,
 13, 18; 44:1, 2, 11, 20;
 45:2, 3; 50:1, 2, 23, 24;
 51:11, 16, 21, 24; 52:2, 11,
 15; 53:8; 55:4; 58:2, 24;
 59:2, 9, 9, 13, 18; 61:6, 6,
 6, 12, 16, 17, 17, 21, 22;
 62:17; 64:7, 19, 20, 21;
 65:3, 4, 4, 4, 12; 66:3, 4, 4,
 6, 8, 12; 67:23; 68:2, 16,
 16; 69:1, 7; 71:4, 21, 23;
 72:16, 21; 74:10, 11, 12,
 13; 75:1, 18; 76:2, 4;
 80:18; 81:6, 12, 13, 21, 23,
 24; 82:14, 16, 16; 84:18,
 20, 23; 86:21; 89:15; 94:7;
 122:8; 125:15; 126:24
physician's 4:15;
 56:16; 57:19
Physicians 6:19, 20, 23;
 13:8, 11, 12, 17, 21; 14:9;
 15:1, 7; 43:8; 50:16; 52:12,
 24; 60:18; 74:16; 115:10,
 12; 120:23; 123:5, 10;
 126:12, 13
pie 118:1
piece 27:17; 28:20; 33:10
pieces 26:16; 28:13
pink 56:23

place 35:19
placed 41:3
places 100:23; 131:11
Plaintiff 3:17; 6:9; 11:12;
 17:13, 14; 85:PO
Plaintiff's 88:10; 98:2, 3
plan 59:8; 66:8; 68:23;
 82:18; 84:20
play 40:11
pleadings 129:4
please 73:21; 113:15
plus 102:15
point 20:4; 25:11; 26:15;
 35:20; 43:18; 47:17;
 49:15; 55:3, 18; 77:6;
 78:16; 92:5, 8; 93:15;
 121:9, 21; 123:9, 18, 23;
 128:14; 130:13
population 21:3; 26:7, 9,
 10
portion 24:8; 29:3; 88:16
portions 7:23
posed 115:2
position 59:4; 99:7;
 127:9
possess 51:12, 13
possible 29:10, 14, 14;
 64:22; 84:21; 85:1, 22;
 107:21
possibly 109:5
potassium 119:18
potent 58:1; 69:3
potential 23:4; 37:24
potentially 37:22; 69:3,
 4; 122:6
practice 125:10
practiced 86:16
practicing 13:8; 68:14
precise 43:17; 47:10
precisely 60:19
predicting 23:21
predominantly 113:1
preparation 87:8;
 112:24; 113:2; 115:5
prepare 112:12
prescribe 50:11; 101:10,
 13
presence 29:22; 127:7
present 62:22
presents 29:1
pressure 34:22; 56:18;
 57:7; 119:19
presumably 44:15
presume 127:23
previous 55:15
primary 13:16; 37:18, 21;
 50:16, 21; 52:5
prior 3:12; 13:18; 15:19;
 20:14; 22:18, 24; 29:20;
 30:5, 14, 18; 31:5; 32:4;
 44:4; 46:15, 16, 18; 55:7,
 20; 58:8, 11, 12; 66:19;
 67:1; 80:6; 113:22; 120:1
privileged 10:11

privileges 110:17
probably 22:12; 31:1;
 34:12, 14, % 750:15;
 61:19; 129:10; 130:1
problem 27:8; 39:1;
 43:13; 45:7; 48:4; 61:9, 15;
 62:18; 63:4, 21; 65:6;
 69:22; 71:12, 14; 72:12;
 127:4, 4
problems 38:4; 39:16;
 57:12
procedure 66:5
Proceeded 83:7
process 24:10
produce 63:14
profile 106:22; 109:15
profusion 33:19
prognosis 26:5
program 12:14; 13:19,
 23; 14:16, 18; 15:10, 12;
 16:14, 14, 21, 23; 17:1, 1;
 86:7, 8
progress 111:20
progressing 30:7
progression 24:9; 36:10
progressive 24:24; 25:3
promptly 131:5
proof 27:14; 28:7
proper 64:18; 66:3, 5
properly 42:6, 20; 57:15;
 58:20, 23; 80:8; 94:8;
 122:9; 131:8
protocol 85:3
prove 28:17; 29:15, 21
proven 30:9
provide 22:16
provided 18:11; 78:5
provides 20:22
providing 73:1
proximity 71:6
publications 6:24; 7:5, 6,
 19, 23; 24:13
published 9:5; 22:14;
 52:20; 53:3, 4, 6, 7
pull 116:23
pulmonary 52:4, 8
pulse 56:19
pulses 57:8
pump 33:10; 56:22; 57:2,
 9; 58:19
pumping 33:11
punch 130:24
pure 31:10
purposes 68:9, 11
put 32:14, 18; 33:7; 44:5;
 60:7; 78:4; 93:22; 100:24

Q

Q-waves 120:4
qualifications 14:3, 5;
 15:8, 11, 21; 16:6; 52:15;
 67:13; 70:24

qualified 49:18; 51:4, 16;
 82:2; 86:12
quality 122:10
quantitate 21:5; 31:9, 11,
 12, 13
quantitative 26:20
query 61:7
questioning 91:10
quick 65:6; 84:21
quite 57:21; 60:6
quote 88:24; 94:10; 97:2
quoted 22:12

R

Radiographic 8:12
raise 47:16
range 105:17; 106:2;
 107:14, 19; 108:10; 109:6
rapid 84:17
rate 21:11; 56:19, 20;
 131:1
rather 70:16
RE-EXAMINATION
 116:10; 122:15; 125:3;
 128:15
re-experienced 29:19
reach 38:20
reaching 104:24
read 37:15; 38:14; 88:14,
 17, 23; 94:21; 95:2; 97:6,
 11; 111:6; 113:3, 7, 13;
 114:11, 21; 115:13; 121:3,
 7; 124:4, 19; 131:6
reading 95:17; 121:20;
 123:12; 134:2
reality 124:11
really 4:15; 14:8; 17:20;
 18:7; 24:18, 18; 27:16;
 28:10, 12; 30:9; 32:3, 5;
 33:23; 34:20; 43:2; 46:18;
 61:8; 65:22; 74:15; 94:15;
 97:22; 98:8; 102:2; 110:4;
 120:21, 23; 123:9, 18;
 129:7
reason 57:14; 58:15, 19;
 81:16; 107:6
reasonable 31:15; 34:4;
 68:23; 78:3; 103:16, 24;
 104:20; 105:1
reasonably 36:7
reasons 32:15; 60:19;
 99:10
recall 3:22; 11:16; 17:19;
 83:23; 87:15; 103:2;
 105:8; 121:20, 21, 24
received 20:7, 11; 54:2,
 2; 89:12; 94:4, 8; 112:2;
 129:3
recent 22:24; 112:11
recently 12:1; 103:3
Recess 63:9
recognized 37:3
recollect 6:1; 106:16

recollection 73:17
record 10:24; 11:6;
 32:15; 33:8; 48:17, 18;
 60:1; 88:17; 100:4;
 110:12, 21; 130:19
recordings 49:11
records 17:22; 18:11, 13,
 21; 20:13, 16; 25:5; 27:14,
 20; 29:8; 40:9, 21; 44:14;
 45:7, 23; 46:14; 47:7; 49:9;
 53:15; 56:3; 59:7; 95:7;
 106:20; 112:1
recovered 24:6
Recovery 8:18
recurrent 37:6, 7, 10;
 58:3; 64:11; 113:18, 23
Reduction 9:20
refer 73:16
Reference 7:13; 8:11;
 9:19; 21:16; 55:15; 93:11
referenced 21:17;
 116:12
references 9:10; 22:13;
 92:16
referencing 114:19
referring 35:22; 52:19,
 19; 54:3; 58:11; 60:8; 81:1
refers 8:12; 12:13; 93:8,
 10
reflect 57:12
reflected 62:7
reflection 57:3, 6
reflects 59:13, 19
refresh 122:3
regard 36:9; 45:2; 93:21;
 97:23; 99:23; 105:9;
 116:16; 123:22; 127:10,
 24; 128:9
regarding 51:4; 63:14;
 67:21; 88:9; 111:20;
 112:11; 113:11
regardless 43:16; 62:16
regards 93:13
regular 17:4
regulations 53:2
reiterate 49:8
relate 68:15
Related 8:22; 9:24;
 55:19; 121:8; 134:4
relates 23:24; 24:16;
 66:1; 67:12; 73:23; 87:5;
 93:4; 106:13; 110:13, 24;
 112:22; 122:16
relating 57:12
relationship 67:5, 9, 12;
 70:22
relationships 67:16
relative 19:8; 38:16; 89:6,
 7; 94:20; 114:15; 122:10;
 129:4
relatively 26:12
releases 28:19
relevance 9:2; 85:23
relevant 8:11; 32:2, 6;
 40:9, 9; 44:14; 75:22;

112:1
relied 75:17; 82:5
relieve 113:20
relieved 41:17; 45:1
rely 68:5, 8, 11; 69:9, 18,
 21; 70:9, 16, 20; 71:13, 16;
 72:7, 11, 13, 15; 75:20;
 80:12; 81:20, 24; 82:3, 8;
 125:10; 126:20
relying 56:13
remember 6:12, 21;
 83:18; 91:16; 111:24
render 103:9; 104:17;
 123:21
rendered 38:19; 47:17,
 18; 65:24
rendering 87:16
renown 17:2
repeat 25:10; 30:1
repeated 91:15
Reperfusion 8:21, 24
rephrase 41:8; 128:1
reply 69:13
report 60:10, 14; 63:15,
 18; 87:3, 12; 88:6; 92:7,
 13, 16; 114:10; 115:3;
 121:18; 129:13; 130:7
reported 80:14
reporter 88:18; 131:9
reports 85:9; 87:9; 99:20;
 100:1; 121:15, 16
represent 3:5; 63:11;
 107:22; 109:5
represents 29:4
request 36:12; 59:22;
 76:2, 3
requested 88:16; 116:2,
 3; 134:3
requests 129:3
require 14:4; 51:7; 52:14;
 53:7
required 14:5; 62:13
requirements 52:12, 24;
 53:1
requires 52:14; 70:13
research 18:24; 86:10;
 100:21; 104:10, 23
reservation 116:6
resident 14:21; 70:16;
 71:23; 72:6, 13, 18
residents 12:14, 20, 23;
 13:13, 16, 22; 14:1, 18;
 16:24
residing 5:5
resolve 25:23; 115:6
resolved 130:8
resolves 25:24
respect 19:10; 22:6;
 23:7; 55:5; 56:21; 66:22;
 67:21; 94:6; 123:17
respective 134:3
respiratory 56:20
respond 13:16; 14:13,
 20; 15:5; 37:21; 43:10;

51:1; 52:1; 62:13; 81:15;
 82:15
responded 54:6
responding 52:4
response 8:23; 52:6;
 64:18; 74:20, 23; 96:17
responses 78:14
responsibilities 14:13;
 16:17; 43:3, 4; 52:3; 89:16;
 127:3
responsibility 13:16;
 39:18; 41:17; 44:23, 24;
 50:24; 52:6; 56:17; 61:16;
 66:11; 71:3; 84:22; 89:22;
 120:23; 123:5, 7; 129:17
responsible 61:19, 21;
 67:24; 121:2
restate 92:3; 116:16
rests 75:16
result 9:22
retain 90:23
retained 85:10
return 41:4
review 4:5, 7; 17:21, 22;
 18:17, 21; 19:7, 11; 20:16;
 25:5; 27:13; 40:9, 20;
 44:13; 45:6, 23; 46:4; 49:5,
 9; 59:7; 78:5; 95:2, 3; 98:2,
 4, 20, 21; 99:7; 106:16;
 112:13, 15; 113:2, 6;
 115:5; 121:8, 11; 122:10;
 123:19; 129:9; 131:12
reviewed 3:11, 17, 20;
 5:4; 17:11; 18:9, 11, 23;
 29:8; 49:2; 85:9; 87:8, 12;
 95:1, 17; 96:9, 9; 106:20;
 112:4; 115:2; 121:5; 129:6
reviewing 3:11, 14; 4:2,
 12; 6:2; 20:13; 55:4; 84:5,
 14; 87:15
revoked 110:18
right 5:1; 6:6; 8:7, 9, 18,
 19, 21, 24; 10:19; 11:20;
 13:1; 21:24; 25:17; 28:22;
 44:3; 45:9; 57:7; 60:12;
 63:7; 65:23; 68:5; 69:9;
 70:10; 74:14; 80:9, 9, 12;
 81:22; 82:19, 19; 87:18;
 104:20; 108:2, 8, 15;
 112:9; 119:10; 122:2;
 131:6
right-hand 108:1
rise 29:3; 106:4, 7; 107:12
risk 76:1
risks 51:5
RN 113:19; 114:3
role 11:14; 12:16, 19;
 36:18; 37:21; 39:17;
 40:11; 41:15; 43:2; 64:7;
 69:23; 120:22
roles 12:1
roll 4:17
room 15:2; 39:23; 43:9;
 50:18; 61:18; 62:12; 65:4
rooms 50:17; 62:14
Ross 87:10, 13; 90:10,

10, 18, 21; 91:9; 93:20;
 94:1, 7, 10; 97:2, 11; 98:3;
 122:18; 123:15, 16
rotate 17:3
roughly 4:14
round 12:9
Royal 3:1
rule 37:6, 17, 18; 63:14,
 21
rumor 56:13, 14, 15;
 57:22; 68:14, 24

S

said/she 112:17
salary 14:21
salient 40:9; 44:13
same 28:3, 4, 5; 29:18,
 21; 36:13; 52:7; 86:6;
 87:14; 90:19; 99:8, 15;
 117:17; 118:11; 124:10
sat 102:20; 104:23
satisfied 78:4
satisfy 79:23
save 65:2
saving 65:2
saw 28:7; 68:18; 95:7
saying 24:19; 29:7;
 31:19; 83:18
scan 20:19; 21:6; 24:20
SCOTT 3:4, 5, 6; 5:1; 7:7;
 10:19; 11:3, 10; 14:8;
 15:18, 24; 16:3, 7; 18:16,
 20; 19:15; 25:17, 19; 30:3;
 32:19; 34:3, 11, 13; 36:3,
 23, 24; 41:9; 42:10, 14, 16;
 43:15, 22; 45:15, 16; 47:3;
 48:9, 13, 15, 19; 49:3, 5,
 17; 50:12, 14; 51:19;
 52:21; 54:4, 9; 55:9, 17,
 23; 60:6, 11, 13; 63:5;
 76:21, 24; 77:5; 103:18;
 104:13; 116:10; 117:4, 5;
 118:23; 119:6, 10, 13;
 120:20; 121:3; 122:4, 13;
 125:1, 3, 16; 127:20;
 128:11
search 19:3; 54:16, 19,
 23; 111:24
second 22:9; 39:22; 65:1;
 90:6; 94:13; 108:10, 15;
 111:9
seconds 44:22
secretary 126:23
section 88:24
seeing 118:19
seem 26:11
seemed 24:4
seems 115:14
sees 55:4; 81:7
segment 120:10
selected 26:4
selection 11:22; 12:6, 8,
 9

send 111:13
sends 4:8
senior 72:17, 23; 86:10
sense 13:21; 22:20;
 106:3
sent 18:18; 95:1; 100:1, 4,
 5
sentence 92:11
separate 117:7
serious 119:22
serve 50:24
service 9:18; 13:15;
 14:15; 127:15
services 15:4
serving 12:18
set 18:6; 60:1; 109:14
sets 18:21
setting 61:10
settings 50:16
settle 96:19
settled 76:9; 129:20;
 130:2, 10
settlement 130:8
seven 5:8; 7:22; 36:8;
 118:1
several 32:4; 71:15;
 77:21; 106:8
Severe 8:7, 8; 9:15; 33:15
severely 57:8
shock 64:24
short 56:11
shortly 27:10; 29:20;
 30:5
show 28:15; 60:22
showed 30:17; 38:10;
 80:7
showing 74:7
shows 62:19
side 57:7; 60:9, 14, 18;
 61:1, 13; 62:2; 108:1;
 113:24; 116:23, 24
SIGNATURE 131:19;
 134:2
significance 67:17, 18;
 100:3; 111:22; 119:13;
 120:12
significant 70:23; 71:3;
 117:19, 21, 22; 118:16;
 119:12
significantly 121:1
signs 40:3; 42:16; 44:7;
 47:9; 49:11; 56:13; 58:17
similar 9:7, 8; 93:24
similarly 91:17
simply 48:16
sit 62:12
sitting 99:14
situation 42:2; 61:13, 15;
 62:1; 70:21; 125:23; 126:8
situations 56:7; 71:8;
 127:7
six 5:8; 10:8, 22
sizes 52:23

skeletal 109:23
skills 65:5
skin 56:23; 58:18
slightly 107:20; 127:10
small 107:14; 111:16
so-and-so 40:16
so-called 28:23
sodium 119:18
somebody 29:1; 44:20; 54:18; 61:3, 10, 14; 62:19; 72:16
somehow 29:12
someone 44:17; 59:12; 60:21; 106:23
something 36:9; 39:12; 15:60; 15, 21; 93:17; 99:18; 119:21, 24; 122:7; 126:1
Sometime 10:22
sometimes 12:21; 110:1; 126:3
somewhat 22:7; 105:18
somewhere 81:14
soon 64:21; 84:24
soonest 72:21
sophisticated 60:17
sorry 19:15; 28:3; 35:17; 58:10, 14; 115:1
sort 6:16; 17:18
sorts 57:13
span 9:23
speak 32:12; 58:8; 66:7; 69:6; 71:12, 20; 76:4; 82:17; 114:22; 115:14
speaking 14:12; 72:24; 75:18
special 121:12
specialists 50:18
specialty 50:21
specific 6:21; 14:7; 19:12; 20:3; 42:9, 15; 47:8; 49:10; 56:4; 66:16; 73:14; 75:21; 76:1; 77:8; 105:14, 17; 108:5; 121:12; 123:20
specifically 7:24; 13:2; 62:6; 75:18; 76:2, 3; 79:13; 83:23; 91:18; 93:8; 98:19
specifics 79:4
specify 52:18
speculate 26:21
speculation 24:21, 22; 26:22; 31:10; 32:8, 12; 76:19
speculative 32:19
spent 100:20; 102:14; 103:2
spoke 84:19
spoken 80:17
spontaneously 23:13
St 13:7; 120:10; 126:10
stable 40:12; 74:8, 21, 22
staff 12:3, 6, 9, 11, 13, 17; 13:13; 14:4, 17; 15:10; 16:22; 110:17; 113:19
stage 20:24; 26:6
stairs 39:2
standard 64:4; 78:22; 83:1; 89:4; 93:13, 21; 123:17
standards 4:9; 52:11, 14; 53:3, 5, 7, 7
start 40:22; 63:12; 114:1
started 130:24
stat 68:21; 81:12; 82:16
state 4:18, 22; 17:5; 24:20; 26:23
stated 25:14, 18; 56:7; 103:11; 123:4
statement 55:10; 77:20; 95:5
states 71:5; 89:2
status 32:5; 39:22; 42:7; 56:10; 64:24; 75:23; 109:17; 128:19; 129:14
stay 28:1
steps 56:5
stickums 112:23
still 33:21; 50:20; 88:8; 106:2
stipulate 130:4
stop 114:2
store 33:24
straight 60:2
streptokinase 7:19
stress 33:19
strike 5:11; 59:15; 67:17; 98:22; 99:19
strikingly 39:6
strongest 97:13
strongly 35:12
students 12:3; 16:22; 17:3
studies 21:10, 15; 22:4; 33:12; 35:14, 22; 110:10
study 7:15, 18; 13:23; 21:17; 22:9; 26:17, 18; 33:20
stuff 96:18
stunned 24:5
subfraction 105:13
subject 11:18, 21; 22:14; 51:7; 77:12
submitted 63:18
SUBSCRIBED 131:21
subset 26:11
substantial 26:2
subtle 108:22, 23; 118:4; 119:18, 20
successful 110:14
sued 103:7
suffered 6:8
sufficient 79:22, 22; 80:2
suggest 29:23; 30:6; 35:15; 81:5; 82:4; 83:8; 84:1; 96:7; 106:18; 131:8
suggestion 94:23
suggests 21:17; 95:21;

121:22
sum 16:4
superficially 86:14
supplied 33:16
suppose 91:12; 98:1
Sure 7:9; 11:6; 12:7; 15:18; 18:16; 30:3; 31:2, 24; 34:13; 41:13; 42:10, 24; 77:23; 89:1; 109:7, 9; 117:2; 126:5; 128:3; 131:4, 7, 9
surgery 54:22
survival 9:13; 21:11; 32:7, 10
survived 24:22; 26:4, 13; 36:7
survives 26:11
suspect 14:9; 99:17
suspended 110:18
SWORN 131:21
symptoms 25:4, 22, 23; 35:6
syndrome 5:17; 28:22; 64:11
synthesis 59:8
System 8:12, 13

T

T-wave 119:6, 14; 120:13
table 92:3
tables 19:9
tachycardia 62:23
tackle 77:22; 92:4; 96:23
talk 7:20; 40:21; 64:21; 68:22
talked 31:18; 105:7
talking 14:8; 19:19; 21:16; 22:1; 31:14; 49:6; 53:6; 60:24; 85:18; 93:2; 108:22
talks 60:9, 14
teach 16:13
teaching 12:15; 13:15, 19; 16:11, 21; 17:7
Technically 130:1
technician 126:24
techniques 105:19
telegram 127:19
telephone 41:20
telling 70:8, 11; 71:13; 72:4, 9, 10; 80:13
tells 76:20; 105:15, 16; 113:24
ten 118:4
tend 26:4
term 14:10; 57:22; 61:2; 100:11
terms 20:4; 24:7; 27:1; 34:19; 42:6; 64:1; 87:7; 105:6, 22; 112:18; 120:22
test 33:19; 61:5; 73:19
testified 3:23; 4:18; 10:2;

11:13; 15:15; 18:7; 48:24; 84:11; 102:22
testify 48:20; 88:10
testifying 3:10; 11:11
Testimony 18:1; 131:7
tests 29:21; 110:15; 122:11
Thanks 122:14
themselves 26:5; 51:15; 62:19; 129:8
therapeutically 49:14
therapy 5:18; 6:4, 5; 7:14; 19:21; 34:2; 49:7; 51:6; 76:2; 80:23; 89:5, 7, 10; 97:14
thereafter 130:11
therefore 9:1; 39:24; 77:11; 107:14
therein 89:13
third 14:19; 108:11
thorough 56:8
thought 16:4; 56:1; 69:2; 93:23; 102:2, 21; 103:20; 104:9, 13; 107:4; 108:13; 120:22; 122:2; 124:7; 125:24; 126:1
threatening 69:3, 4
Three 4:3, 6, 13, 16; 21:12, 13, 19; 44:22; 92:2; 118:14; 119:9
thrombolysis 7:8, 17, 24
thrombolytic 5:18; 6:3, 5, 7; 7:14, 22; 8:15; 9:2; 19:20; 51:6; 76:1; 80:16; 89:5, 6; 97:14
throughout 88:24
Thus 89:8
times 15:15; 18:8; 71:15; 102:22
Timewise 111:13
timing 38:15
tiny 110:2
tissue 28:18; 29:17; 105:11
today 130:22
together 67:6, 8; 86:8; 127:1, 2
told 41:2; 48:4; 81:3, 4; 112:18; 127:22; 130:2, 6, 11
tones 57:11
took 103:4; 130:22
top 87:20
total 5:8; 71:8; 94:17; 103:5; 105:16; 106:6; 107:13, 13, 19, 20; 108:4
totally 40:12; 69:8
touch 40:24; 41:1
town 102:4, 23
TPA 5:12; 6:24; 7:8, 10, 18; 20:8, 11; 22:19; 29:20, 20; 36:14; 45:13; 47:19, 20, 21; 50:8, 11, 15; 51:14; 53:8; 58:8, 12; 69:3; 74:24; 75:10, 15; 76:12; 79:24; 80:11; 89:8, 12, 18, 21, 22; 94:3, 4, 9; 97:16; 99:10; 101:3, 6, 8, 10, 19, 22; 113:16; 114:1, 2; 121:8; 124:6, 20
trace 54:14; 55:7
traces 118:2; 120:2
tracings 97:18
trained 49:24; 50:18; 57:18; 86:7
training 12:4, 14; 14:16, 18; 15:9, 11; 16:22, 24; 50:21; 86:17
transcribed 131:8
transpired 75:3; 76:20
transplant 9:18
transplantation 16:18; 101:2
travel 18:4; 102:12, 15
treat 40:22; 48:1, 2, 5; 49:18; 51:14; 85:2; 110:6
treated 123:1
Treatment 9:19; 20:5, 5, 7, 8, 11; 22:19; 45:10, 13, 19; 46:21; 47:6, 17, 18; 48:23; 49:1, 4, 6; 70:6
trial 10:18; 22:5, 8, 10; 47:15; 48:20; 88:11; 102:14
trials 22:11
tried 99:21; 123:13; 129:11
True 63:17; 70:2; 95:19; 123:24; 124:15, 15
trust 71:8
try 6:1, 7; 8:15; 20:9; 87:3; 128:3
trying 17:18; 69:12; 73:19; 92:9; 102:19; 103:21; 105:8
Tuesday 116:6
turn 83:5; 116:23
turned 83:8
turning 83:3
Twice 15:16; 102:8
two 5:4; 8:23; 22:12; 26:4; 28:12; 39:2; 40:15; 81:15, 16; 85:9; 87:7, 9; 89:2; 94:22; 98:22; 102:22; 103:5; 107:23, 24; 117:19; 120:7; 130:21
two-and-a-half 130:21
type 52:6
typed 92:21
typically 106:6

U

ultimate 27:16; 123:6
umbrella 6:22
unable 111:6
unacceptable 68:19; 69:8
unchanged 30:18;

<p>46:18; 97:18 unclear 115:14 under 6:22 underlined 95:3, 11, 18 underlining 95:9, 12 understands 42:11 understood 76:10; 108:6 unimpressive 40:13 unique 8:13 Unit 16:15; 17:8; 37:4, 24; 54:5; 64:12; 66:14, 15; 67:14; 70:5, 12, 13, 18 university 13:7; 17:4, 5; 88:2; 90:12 unknown 32:7 unless 41:16; 81:13; 106:8 unlikely 24:24 unreasonable 126:7 unrelated 13:3 unrelieved 124:2, 18; 125:5, 7 unsupervised 72:19 unusual 98:22 up 5:2; 9:1; 28:15; 39:2; 48:12; 49:22; 54:24; 63:13; 66:8; 82:18; 98:9; 102:6; 103:21; 106:1; 116:13; 125:2, 21; 128:6; 130:12 upon 36:4; 68:5, 11; 69:9, 13, 18; 70:10, 16; 72:7, 13; 75:17; 80:12; 82:5, 8; 91:12; 117:8; 125:10; 126:20 upper 102:20 upside 116:24 urgent 65:1 use 7:22; 14:10; 38:3; 92:10; 100:13; 105:20; 126:13 used 100:11 useful 109:18 using 57:22; 61:2; 113:3</p>	<p>78:7; 79:3; 80:22; 81:17; 84:16; 87:5; 88:7, 20, 21; 89:21; 90:1, 21; 91:18, 24; 92:6, 17; 93:11, 16, 22; 94:11; 97:4, 12; 98:4, 12, 20, 23; 99:16, 20; 111:19; 112:21; 114:16, 19; 127:23; 128:7, 23 variables 104:16 various 17:6; 81:3 vary 53:19; 105:18 veins 57:6 ventricular 9:14 ventricle 20:15; 21:4; 23:12 Ventricular 8:7, 9, 18, 21; 10:1; 20:19; 26:3; 27:2; 62:20, 22, 23; 97:21 versus 7:10, 16; 113:19 vessel 9:1 vessels 25:9; 33:22; 34:21 view 26:23; 49:3 vintage 55:8 virtually 74:23 vital 40:3; 42:16; 44:7; 47:9; 49:11; 56:13; 58:17 VI 119:1, 2 voice 127:16</p>	<p>William 16:16 wise 60:17 wish 117:5 Within 10:8, 22; 13:19; 15:10; 21:19; 26:10; 31:22; 32:11; 41:11; 45:7; 17:81:15, 15, 18; 82:13; 106:7; 107:13, 19; 108:9; 113:18 without 23:10; 26:16, 22; 31:6; 32:11; 33:19; 75:17 WITNESS 10:10; 131:19; 134:3 wonder 114:11 wonderful 40:7 wondering 31:20; 48:19 word 56:15; 71:4 words 35:21; 43:19; 93:22; 104:19; 107:12 work 12:23; 31:23; 127:1 worked 37:19; 67:6, 8; 70:12 working 47:19; 50:16; 58:19; 72:22; 81:13 world 17:2; 52:11; 100:23 worse 29:24; 31:8 worsen 34:15 worsened 23:7; 33:3 writing 100:22 written 11:17, 21; 56:15; 74:3; 111:8 wrong 89:23; 94:24; 95:14, 22 wrote 129:13; 130:7</p>	<p>18:12, 15, 17; 19:7; 25:15; 30:1; 32:14; 33:4, 7; 34:10; 35:21; 36:15, 21; 41:6; 42:8, 11, 22; 43:20; 45:12; 46:23; 47:16; 48:14, 17, 24; 49:16; 50:10; 51:17; 52:16; 53:24; 54:11; 55:13; 60:1, 8, 12; 63:17, 22; 65:15; 67:19; 68:7; 69:15; 73:15; 75:2, 6; 76:18; 77:4, 14; 78:9; 80:24; 81:11; 83:11, 14, 21; 84:11; 85:20; 87:2, 10, 14; 88:8; 90:4, 22; 91:1, 4, 8; 92:23; 94:12; 95:6, 11, 13; 96:1, 12, 15, 24; 98:7; 99:1, 5; 100:2; 101:5, 10; 103:11; 104:2, 5, 9; 107:2; 108:7; 109:11; 110:11; 111:7, 19; 112:3; 113:10, 16; 114:6; 115:22; 116:5; 117:2, 10; 118:12, 20; 119:8; 120:18; 121:17, 22; 122:2, 19; 124:7; 125:13; 127:17; 128:18, 21; 129:19, 22; 130:1, 10, 16, 19; 131:1, 4</p>
W	<p>waiting 43:6 waits 81:6 waive 131:12 walk 48:1; 63:2 walking 39:8; 60:4, 6, 20 wall 57:9; 109:16 wants 85:20 ward 51:21, 23 warm 56:23 wary 60:18 Washington 90:12 waste 94:17, 17 wasting 96:13 way 17:8; 26:21; 28:9; 29:15; 31:9, 12; 35:4, 4, 5; 44:5; 58:18; 60:7, 11; 62:3, 10; 64:6; 65:8; 69:16, 17; 78:4; 90:16; 103:14; 117:6; 121:1; 124:10; 127:14 Wayne 17:5 ways 31:11, 13; 89:17 week 71:15 weekend 71:7 weight 32:20 weren't 48:12; 124:P1 whatsoever 116:20 whole 43:14; 57:21; 109:14 whose 88:11 widely 50:15</p>	X	
		X,Y 47:6 x-ray 35:10	
		Y	
		<p>Yeah 62:22; 63:2; 117:11 year 4:6, 13, 14, 17; 26:4, 11, 14; 70:16; 72:5, 13, 18; 85:6 year-old 113:17 years 4:4, 16; 5:7, 10, 23; 9:17; 19:12; 21:12, 14, 20; 29:24; 30:7; 31:5; 32:4; 34:10; 36:9; 72:8; 86:14; 100:20; 103:2 yellow 112:23 young 33:13 younger 23:18 yours 10:15</p>	
		Z	
		<p>Z 47:6 ZUCKER 4:23; 7:4; 10:12, 14; 11:2, 7; 14:6; 15:17, 19; 16:1, 5; 17:19;</p>	

Lawyer's Notes
