

1 IN THE COURT OF COMMON PLEAS

2 LORAIN COUNTY, OHIO

3 RHONDA STOVER,

4 Plaintiff,

5 -vs-

JUDGE MCGOUGH

CASE NO. 97CV117894

6 NANCY FIGUERRA,

7 Defendant.

 - - - -

8

9 Videotape deposition of TIMOTHY L. GORDON, M.D.,

10 taken as if upon direct examination before

11 X. John Revmatas, a Notary Public within and for

12 the State of Ohio, at the offices of

13 Timothy L. Gordon, M.D., 850 Brainard Road,

14 Highland Heights, Ohio, at 3:25 p.m. on Monday,

15 July 6, 1998, pursuant to notice and/or

16 stipulations of counsel, on behalf of the

17 Defendant in this cause.

18 - - - -

19 MEHLER & HAGESTROM

20 Court Reporters

21 1750 Midland Building

22 Cleveland, Ohio 44115

23 216.621.4984

24 FAX 621.0050

25 800.822.0650

1 APPEARANCES:

2 David P. Miraldi, Esq.
3 Miraldi & Barrett
4 6061 S. Broadway
5 Lorain, Ohio 44053
6 (440) 233-8525,

7 On behalf of the Plaintiff;

8 Gerald L. Jeppe, Esq.
9 Meyers, Hentemann & Rea Co., L.P.A.
10 2100 The Superior Building
11 815 Superior Ave., N.E.
12 Cleveland, Ohio 44114
13 (216) 241-3435,

14 On behalf of the Defendant.

15 ALSO PRESENT:

16 Daniel Williams, Video Technician
17
18
19
20
21
22
23
24
25

(The repon. Defendant's Exhibit 4
through D was marked for purposes of
identification.)

MR JEPPE: This is the videotape
deposition of Dr. Timothy Gordon taken in
his office on Monday July the 2th. 1998 to
be used in the case of Rhonda and Rene
Stover versus Nancy Figueroa presently
pending in the Court of Common Pleas of
Lorain County, Ohio

At this time it's the intention of
the defense to use Dr. Gordon's deposition,
videotape deposition at time of trial and I
am going to ask plaintiff's counsel if he
has any objections to the taking of the
deposition, the manner in which it's being
taken or its use at time of trial?

MR MIRALDI: I have no objection
to notice of the deposition and the manner
in which it's being taken.

MR JEPPE: OK its use at trial?

MR MIRALDI: Or its use at trial

MR. JEPPE: All right. If that's

1 the case, would you go ahead and swear the
2 witness in please.

3 VIDEO TECHNIC'IAN: On the record.

4 TIMOTHY L. GORDON, M.D., of lawful age,
5 called by the Defendant for the purpose of
6 direct examination, as provided by the Rules of
7 Civil Procedure, being by me first duly sworn,
8 as hereinafter certified, deposed and said as
9 follows:

10 DIRECT EXAMINATION OF TIMOTHY L. GORDON, M.D.:

11 BY MR. JEPPE:

12 Q. Doctor, would you please state your full name
13 for the record.

14 A. Timothy L. Gordon, M.D.

15 Q. And your business address?

16 A. 850 Brainard Road in Highland Heights, Ohio.

17 Q. And then could you please tell the jury, if you
18 would, your business or your occupation,
19 profession?

20 A. I am a physician. I am an orthopedic surgeon.

21 Q. Would you define, if you would, the practice of
22 orthopedic surgery for the jury?

23 A. All right. Orthopedic surgeons are trained in
24 the surgical and nonsurgical treatment of the
25 musculoskeletal system, and this would include

- 1 the spine, the joints, the ligaments, nerves,
2 tendons, the extremities, those kind of things.
- 3 **a.** would this include like the neck, back, injuries
4 to those areas of the body?
- 5 **A.** Yes.
- 6 **Q.** And what about the knees, your area of practice
7 cover knees as well?
- 8 **A.** Yes. That would be included also.
- 9 **Q.** Now, doctor, would you please tell the jury your
10 educational background with respect to preparing
11 yourself for your profession?
- 12 **A.** All right. I went to medical school at the Case
13 Western Reserve University School of Medicine.
14 And then went on to do my residency in
15 orthopedic surgery at the Mt. Sinai Medical
16 Center.
- 17 **Q.** And how many years was your internship and
18 residency, sir?
- 19 **A.** It's a five year residency program in orthopedic
20 surgery.
- 21 **Q.** And did you successfully complete those five
22 years of orthopedic surgery residency?
- 23 **A.** Yes.
- 24 **a.** Are you licensed to practice medicine in the
25 State of Ohio?

1 A. Yes.

2 Q. And when did you become so licensed?

3 A. 1986.

4 Q. Doctor, are you actively engaged in the practice -
5 of orthopedic surgery here in the Northeastern
6 Ohio area?

7 A. Yes.

8 Q. And how long have you been in practice here at
9 the Northeastern Ohio area?

10 A. I've been in private practice since 1991.

11 Q. Would you tell the jury what hospitals that you
12 have admitting privileges to or you are
13 affiliated with?

14 A. Certainly. I have admitting and surgical
15 privileges at a number of area hospitals
16 including Meridia Hillcrest, Huron, Euclid,
17 Lake Hospitals, also Mt. Sinai and University
18 Hospital of Bedford.

19 Q. Along with your active practice of orthopedic
20 surgery, have you found time to teach your
21 profession in any teaching hospital or college
22 or university?

23 A. I've instructed anatomy at the medical school,
24 Case Medical School.

25 Q. During the course of your career as an

1 orthopedic surgeon, have you authored any
2 publications, books, chapters in books, medical
3 journals, et cetera?

4 A. Yes. I've published articles in the area of
5 orthopedic surgery.

6 Q. And how many have you published, sir?

7 A. Oh, less than ten I think.

8 Q. And where have they appeared?

9 A. Various journals in orthopedics.

10 Q. Are you affiliated -- excuse me.

11 Do you belong to any professional
12 organizations or associations?

13 A. Yes.

14 **a.** Would you name a few of those for the jury,
15 please.

16 A. I am a fellow of the American Academy of
17 Orthopedic Surgery. **Also**, I am a member of the
18 American Medical Association. **Also** the Ohio
19 State Medical Association, and the Cleveland
20 Academy of Medicine.

21 Q. Now, doctor, are you board certified in
22 orthopedic surgery?

23 A. Yes.

24 Q. When did you become board certified?

25 A. 1993.

1 Q. would you tell the jury what it takes to become
2 board certified in your specialty of orthopedic
3 surgery?

4 A. Yes. Board certification in orthopedic surgery -
5 requires that the individual take an extensive
6 written examination. Once they have completed
7 the residency, when that is passed then they
8 need to be in private practice for two years,
9 and then they undergo an extensive oral
10 examination. Once that is passed they can apply
11 for orthopedic fellowship, board certification,

12 I have done all those things and did them
13 in the minimal amount of time.

14 Q. All right. Now, doctor, at the request of my
15 office, did you examine and evaluate an
16 Irene Stover?

17 a. Yes.

18 Q. Now, in front of you on your desk, is that the
19 file of Irene Stover that you have in your
20 possession?

21 A. Yes.

22 Q. And also in that file is there a report that you
23 have generated, and I believe it's the dated
24 December the 4th, of 1997; is that correct?

25 A. Yes.

1 Q All right Now, Doctor, during the course of my
2 direct examination and also the
3 cross-examination of opposing counsel, please
4 feel free to refer to your report or to the
5 records while testifying here to the

6 All right?

7 A All right.

8 Q Did you evaluate or did exam Irene Stower; is
9 that correct?

10 A That's correct.

11 Q When did that take place?

12 A. I evaluated her August 21st of 1997

13 Q And where did that examination take place?

14 A But I can recall it was here at the office

15 Q Now, Doctor, is it your -- is it your practice
16 to take a history from a patient as a part of
17 the examination that you conduct?

18 A Yes.

19 Q Would you tell the jury what a history is and
20 why it's important to a physician?

21 A All right A history is what physicians are
22 trained to do in part evaluating anyone
23 History is the story the patient tells us, why
24 things are there, how they feel, past medical
25 history It's what they chose to tell us and we

1 usually document it.

2 Q. Now, when arriving at opinions in a case,
3 arriving at a medical opinion in a case, do you
4 take the history in consideration with respect
5 to those opinions?

6 A. Yes.

7 Q. With respect to Irene Stover, did you take a
8 history from her on August the 21st of 1997?

9 A. Yes, I did.

10 Q. Was it your practice to take the history
11 yourself or does someone else in your office do
12 that for you?

13 A. I take the history myself with the patient.

14 Q. All right. Doctor, if you would then would you
15 kindly relate to the jury the history that was
16 given to you by Irene Stover back on August the
17 21st of 1997. And at various times as we go
18 through your testimony I may stop and have you
19 define some terms or to try to explain some
20 things for the jury. All right?

21 A. All right.

22 Q. All right. If you would you please, begin with
23 the history that was given to you by
24 Irene Stover back on August the 21st of 1997.

25 A. All right. As a summary of the history she gave

1 me, she reported that she was 57 years old at
2 the time, and that she is hearing impaired and
3 she was there with her daughter and a
4 paralegal. She indicated that in December 2nd,
5 '95 she was involved in a motor vehicle
6 accident. She indicates that she was wearing a
7 seat belt when the car hit the rear of their
8 car, that she went back and forth and hit the
9 dash indicating that her chest and left knee had
10 hit the dash. Indicates that she was taken by
11 ambulance to Lorain Community Hospital Emergency
12 Room, subsequently evaluated there. She had
13 reported that her -- she hurt all over and that
14 she had left knee pain that started right away.
15 X-rays were taken and she was sent home.

16 Q. Doctor, did she give you any history as to
17 whether or not either knee was bruised and was
18 reported that way to the emergency room?

19 A. No. That history was not given.

20 Q. All right.' Thank you. Go ahead, sir.

21 A. She indicates that she subsequently followed up
22 with her family doctor, Dr. Lindstruth, within a
23 couple of days and then was referred to a
24 Dr. Wright, an orthopedic surgeon, and she had
25 complaints regarding her left knee. She

1 indicates that she subsequently had some surgery
2 on the left knee an arthroscopic surgery in
3 March of 1996. And that she had also some
4 treatment for some neck and back complaints by
5 Dr. Lindstruth, apparently had some physical
6 therapy by her history,, and that she had seen a
7 Dr. Doctors, who she subsequently indicates that
8 she apparently had seen previously for some back
9 problems that she had prior surgery on her back
10 twice before this motor vehicle accident. She
11 indicates she subsequently saw a Dr. Patterson,
12 Vernon Patterson, in May of 1997 and a
13 Dr. Nemeth in July of 1997, who I believe is
14 also an orthopedic surgeon,

15 Q. All right. At the present time or at the time
16 that you examined her, did she have any symptoms
17 or complaints at that time?

18 a. Yes. She reported that at that time she had
19 pain in left knee that she felt like it rubbed
20 and catches with walking, and that she reported
21 she had pain in the neck, upper back and left
22 low back. She indicated she didn't have any
23 complaints regarding her right knee.

24 Q. With respect to taking a history, do you also
25 then take a past medical history? I know you've

1 given us some of the past medical history
2 already. Is that part of the history that you
3 take from the patient?

4 A. Yes. We like to know a little bit about their
5 past history.

6 Q. Again, would you just briefly relate the past --

7 A. All right.

8 Q. -- history that was given to you by
9 Irene Stover?

10 A. All right. She indicated that prior to this
11 motor vehicle accident in question that she had
12 had a prior history of back problems, left leg
13 numbness, pain, and that she had had two prior
14 surgeries on her low back. Once by Dr. Bruger
15 and then by Dr. Doctors. The most recently in
16 1990. She indicated that she was in a motor
17 vehicle in 1976 and injured her lower back and
18 she did not have any prior knee problems.

19 Q. And did that basically end the taking of the
20 history from Irene Stover?

21 A. Yes. She also indicated that she was five foot
22 five and a half and weighed 157 pounds as part
23 of her history.

24 2. Following the taking of the history, what if
25 anything was done next?

1 A. Well, I examined her then.

2 Q. The actual physical examination?

3 A. That's correct.

4 Q. What parts of the body were examined?

5 A. Well, I performed an orthopedic evaluation or
6 examination, meaning that I didn't listen to her
7 heart or lungs, those kind of things, but I
8 examined the areas of the body that were
9 appropriate for this evaluation.

10 Q. I believe that she complained about pain in her
11 neck; is that correct?

12 A. Yes. When evaluated I watched her during the
13 giving the history and she had demonstrated a
14 brisk going back and forth motion of her head'
15 apparently to describe what she thought happened
16 to her at the time of the impact. That
17 indicated to me that she had good flexion and
18 extension of her neck and had she could do that
19 rather vigorously and it didn't seem to bother
20 her.

21 When I examined the neck and felt it there
22 was no tenderness. She had been told that if
23 there was tenderness when I pushed to report
24 that and she acknowledged that. There was good
25 range of motion in the neck. There was good

1 active elevation of the shoulders up over the
2 head. The neurologic examination of the upper
3 extremities, the reflexes, the sensation was
4 intact, the muscles were well developed in the
5 upper extremities, the right arm was dominant.
6 In other words, she used her right arm more by
7 her own report. This was a little larger than
8 left, which is what we expect to find. There
9 were noted to be degenerative changes in both
E0 hands.

11 When I examined her back and pressed around
12 and palpated it there was no tenderness. She
13 was able to bend forward and put her finger tips
14 down to shin level, and it was noted on her back
15 to be two healed surgical incisions from the old
16 surgeries on her back. When I examined her
17 lower extremities, the reflexes were symmetric,
18 **she** did report some decreased sensation in the
19 left lower leg, and this was reported to be
20 present from before the motor vehicle accident.
21 She did have the prior surgeries and apparently
22 was related to that. The left calf was a little
23 smaller than the right, and again, this was
24 related to the old process. There was good
25 resistance in strength in lower extremities.

1 When I specifically examined the knees they
2 were noted to look similar in appearance. There
3 was no fluid in the knees. The patella or
4 kneecaps were moved a little medially. The
5 knees were a little knock-kneed. When I
6 examined the left knee she reported some
7 diffused tenderness, kind of all over. Nothing
8 focal, nothing specific. There was full
9 extension of the knee and there was a hundred
10 degrees of flexion on both knees, and there was
11 crepitus with motion to both knees, meaning some
12 cracking and popping when I held my hand and
13 moved the knee. This is consistent with some
14 arthritis. And the kneecaps moved well on both
15 sides and the ligament exam was stable on both
16 sides.

17 Q. All right. Now, doctor, Pet's go back then to
18 the examination of the neck just for a second.

19 Do you find any abnormalities with the
20 examination of the neck?

21 A. No. She seemed to be able to move her neck well
22 and seemed to be a pretty normal exam.

23 a. You said there was some diffused degenerative
24 changes of both hands, what does that mean, sir?

25 A. Well, the hands often tell us a little bit of

1 the story about the general part of the body.
2 She had significant degenerative changes at both
3 hands that would go along with a systemic type
4 of degenerative process. This is a common
5 finding in middle aged to older women.

6 Q. What do you mean by degenerative process?

7 A. Well, it's indicative of degeneration going on
8 in multiple joints.

9 Q. Now, doctor, with respect to the back, were
10 there any abnormal findings with respect to the
11 low back?

12 A. The two prior surgical incisions were noted that
13 were consistent with her prior history with two
14 lumbar surgeries in the past.

15 Q. Other than the after effects of the two lumbar
16 surgeries that you have referred to, was there
17 any other abnormalities noted with respect to
18 the low back at the time of your examination?

19 A. No.

20 Q. Let's talk about the knees then for a moment.

21 You examined both the left knee and the
22 right knee; is that correct?

23 A. Correct.

24 Q. Was she complaining of both left knee and right
25 knee at the time of examination?

1 A. No. Just the left.

2 Q. Why would you then examine both the left knee
3 and the right knee?

4 A. For a comparison, to compare one side to the
5 other.

6 Q. And what was the comparison when you compared to
7 the left knee to the right knee?

8 A. well, we consider the objective finding. In
9 other words, not the report of one knee hurts or
10 not, **but** just what the findings are and physical
11 exam, they really were quite similar, They both
12 were consistent with arthritis in both knees,

13 Q. Now, doctor, with respect to the extension of
14 the examination, did you or were you requested
15 to review some medical records as well?

16 a. Yes.

17 Q. And these of course related to Irene Stover,
18 correct?

19 A. That's correct.

20 Q. First of all, did you have and did you review
21 the emergency room records from the Lorain
22 Community St. John -- or St. Joseph Hospital --
23 excuse me, dated December the 2nd of 1995?

24 a. Yes.

25 Q. All right. Doctor, if you would tell me, what

1 if any significant or significant findings in
2 those records with respect to your review that
3 you can relate to the jury with respect to
4 Irene Stover?

5 A. All right. In reviewing those records it
6 indicates that her daughter was apparently there
7 in the emergency room also. Apparently by her
8 history, her husband. was there, too and
9 indicates that she reported some complaints of
10 being in a car accident and that she hit her
11 chest on the dashboard. She made complaints of
12 neck and chest area complaints. There were no
13 complaints regarding either knee. The
14 extremities were specifically noted to be within
15 normal limits. They were looked at and it was
16 specifically stated lower extremities noted to
17 be within normal limits.

18 Q. All right. Now, doctor, does that mean that the
19 lower extremities or the legs were examined by a
20 physician at the emergency room?

21 A. It indicates that the lower extremities were
22 evaluated in the emergency room and apparently
23 felt to be within normal limits.

24 Q. Any indication of any injury to either lower
25 extremity, either leg or either knee **as** a result

1 of this accident of December 12 of 1995?

2 A. No. They were indicated to have full range of
3 motion subsequently also and appear normal. And
4 this would not go along with the history given
5 of striking the knee on the dashboard.

6 Q. Was there any history in the emergency room
7 records of striking either or both knees on the
8 dashboard?

9 A. No, there was not.

10 Q. Now, doctor, did you review the x-rays that were
11 taken at the emergency room on that date,
12 December the 2nd of 1995?

13 A. I reviewed the reports regarding the x-rays that
14 were taken, yes.

15 Q. And will you tell the jury whether or not either
16 knee was x-rayed --

17 A. No.

18 Q. -- as a part that examination?

19 A. No. Neither knee was x-rayed in the emergency
20 room. There were no complaints made regarding
21 the knee.

22 Q. All right. Doctor, what other records have you
23 reviewed other than the emergency room records,
24 sir?

25 A. Dr. Lindstruth's records, which appeared to

1 indicate that some time after the motor vehicle
2 accident, possibly several days later, that the
3 nurse's note indicates complaints regarding the
4 knees, back, ribs and neck. Subsequently she is
5 noted to be able to squat to 90 percent. The
6 knees were noted to be tender, but she could
7 squat to 90 percent, which is pretty good. And
8 subsequently some x-rays of the knee, left knee
9 were ordered and I did have a chance to review
10 those.

11 Q. Let's stop there for one second.

12 I want to go back for just a second to the
13 x-rays that were taken on 12/2 1995 of the neck,
14 and the low back.

15 A. All right.

16 Q. What were the findings, if any, those x-rays
17 that were taken with respect to the neck and low
18 back?

19 A. Well, the x-rays of the neck and back indicated
20 there were degenerative arthritic changes
21 present and the indication of the old surgery in
22 the low back.

23 Q. Now, doctor, am I correct in stating that so far
24 there have been degenerative changes found in
25 both hands and degenerative changes, arthritis

1 found in the neck and low back; is that correct?

2 A. Yes.

3 Q. And during your examination you found evidence
4 of degenerative changes in both knees as well;
5 is that correct?

6 A. Yes.

7 Q. All right. With respect to Dr. Lindstruth's
8 records, what if anything within those records
9 did you find in fact important or significant
10 with respect to Irene Stover?

11 A. As indicated she did apparently present to
12 Dr. Lindstruth several days later. There was
13 noted degree of report of complaints regarding
14 the knees. The knees were noted to be tender,
15 but that she could squat to 90 percent, which I
16 said is quite good for her age. And
17 subsequently some x-rays were taken of the left
18 knee which I did review.

19 Q. All right. Now, doctor, **do** you have the x-rays
20 that you just referred to that were taken I
21 believe on 12/12/95 of the left knee?

22 A. Yes, I do.

23 Q. And have they been marked as exhibits?

24 A. I believe so, yes.

25 Q. Would you take a look at those, sir, and tell

1 the jury how they have been marked.

2 A. Yes. These are marked Exhibit A, B and C.

3 Q. If you would then, would you put Exhibits A, B
4 and C, I don't care which order you do in it and
5 let the jury know what we are looking at --

6 A. All right.

7 Q. -- and what this indicates on December the 12th
8 of 1995.

9 First **of** all, you are capable of reading
10 x-rays, are you not, sir?

11 A. Yes. I read them all the time.

12 Q. All right. Fine. Thank you.

13 A. The x-ray here is of the left knee. And this is
14 a view looking at the knee from the front. This
15 is the femur, which would be the thigh bone. In
16 other words, the hip would be up above and the
17 tibia here is the lower leg bone, major lower
18 leg bone, and the ankle and foot would be down
19 below.

20 And what we see of significance are these
21 calcifications of the cartilage discs within the
22 knee. The discs are outlined with calcified,
23 what's called chondrocalcinosis and that is just
24 an extra descriptive image. This consistent
25 with a diagnosis called pseudogout, which is a

1 inflammatory joint disease which involves the
2 deposition of what is called calcium
3 pyrophosphate crystals in the knee joint and
4 other joints also. This is a problem that
5 develops typically in middle age and gets worse
6 with time.

7 Q. Does a situation like that develop within let's
8 say a ten day period?

9 A. No. It's an on-standing long term process to
10 get this amount of calcification in the joint
11 spaces.

12 Q. How long are we talking about?

13 A. Years.

14 Q. All right.

15 A. Then we go to a lateral view of the knee and
16 this is, again, the left knee, and what is
17 important on this view, this is now a week or so
18 after the car accident that we see again the
19 calcification back here of the cartilage, but
20 very importantly we see a cyst in the kneecap.

21 This is a side view of the patella or
22 kneecap which sits out in front of the knee and
23 there is a degenerative knee cyst here which is
24 about five millimeters in size. It's got
25 sporadic edges, and that means it's been there

1 for years and that goes along with degenerative
2 changes, When one sees that on the plain x-ray
3 one 'would expect to see degenerative changes of
4 the kneecap if one were to look at the kneecap
5 at surgery at that time.

6 Q. Again, would this have developed within 10 to 12
7 day --

8 a. No.

9 Q. -- period following the accident?

10 A. No. This would have been present for years.

11 Q. All right. There is one more exhibit I believe,
12 sir.

13 A. Basically this is just another version of what
14 is called an oblique view and it just shows more
15 of the degenerative change of the disc cartilage
16 with calcification and so forth.

17 Q. Now, doctor, I realize that you do not have any
18 x-rays of the right knee; is that correct?

19 A. That's correct.

20 Q. Would you expect to find the same type of
21 findings on the right knee as you would on the
22 left?

23 A. Usually with this type of calcium
24 chondrocalcinosis and then taking into
25 consideration the recent exam of both knees, I

1 would expect to find similar findings and x-rays
2 to both knees.

3 Q. Would you expect to find both knees to be
'4 symptomatic?

5 A. Et wouldn't be surprising at all with this
6 amount of degenerative chondrocalcinosis and
7 cyst formation underneath the kneecap, I would
8 not be surprised if there were symptoms related
9 to that.

P0 Q. Would it be possible to have symptoms only in
11 one knee and not the other?

12 MR. MIRALDI: Objection.

13 A, Anything is possible. Often time they come in
14 pairs.

15 Q. All right. Now, doctor, did you review any
16 further records other than that, sir?

17 A. Yes. I reviewed additional records. These
18 included records from a Dr. Wright, who is an
19 orthopedic surgeon that she was referred to who
20 subsequently did an orthoscopic surgery of the
21 left knee March of 1996.

22 Q. Okay. With respect to Dr. Wright's records,
23 what if anything of significance did you find
24 with respect to Irene Stover as it possibly
'25 relates to or does relate to the automobile

1 accident December 12th of 1995?

2 A. Okay. Well, he notes the history that she
3 apparently gave him of some complaints of the
4 left knee since then apparently gave the history
5 of hitting the knee on the dashboard. He notes
6 the degenerative changes of the knee and the
7 calcific deposits in the meniscus. He refers to
8 the medial meniscus as being abnormal, which it
9 is. That wouldn't be related to the motor
10 vehicle accident. And subsequent recommends
11 that she undergo an arthroscopy of the knee,
12 which she did March 25 of 1996, and I reviewed
13 his operative report and intraoperative
14 photographs taken at the time, and the findings
15 are consistent with degenerative changes of the
16 under surface of the kneecap, and that would go
17 along with that degenerative cyst that we talked
18 about **in** the kneecap or patella, same thing, and
19 that there were also degenerative changes of the
20 disc of cartilage within the knee more so on the
21 medial side. I noted to be a tear of that
22 degenerative area which commonly occurs because
23 **of** the degenerating nature of the meniscal
24 cartilage. **It** just tears with every day type
25 activity. There was nothing that would be

1 specific to having been caused by this motor
2 vehicle accident.

3 Q. That was my next question.

4 With respect to the operative notes and
5 what is described there, would that be
6 consistent with a traumatically induced tear in
7 the meniscus or cartilage?

8 A. No.

9 Q. And why is that, sir?

10 A. Well, this is something we see very commonly at
11 arthroscopy. It's very common in this age group
12 of individual, especially these individuals who
13 have this preexisting calcified cartilage and
14 degenerative change going on in their knee.
15 They kind of have a double whammy going on, if
16 you will, and they have two problems going on.
17 Not just degenerative arthritis, but **also** the
18 pseudogout, and it's very common to see these
19 general meniscus tears which are the result of
20 just wear and tear on the degenerated meniscus
21 and they fray over time and it's very common to
22 see these.

23 Q. What is pseudogout? You used that term a couple
24 times.

25 A. All right. Sure. That's a fair question.

1 Pseudogout refers to there is really two
2 types of common inflammatory joint disease.
3 Gout and pseudogout. Pseudogout is the more
4 common type that goes along with degenerative
5 disease in this age group and it's specifically
6 the deposition of calcium pyrophosphate which is
7 a crystal in the joint cartilage surface, very
8 commonly in the knees and this is what she has.
9 In addition to degenerative arthritis she also
10 have pseudogout.

11 Q. I know that Dr. Wright in his report, I think
12 his operative report, notes that the
13 degenerative changes were almost all the way
14 through and showed signs of calcification in the
15 posterior horn.

16 Is that a condition that would have
17 occurred like within the last two or three
18 months, four months **from** the day of the
19 accident?

20 A. No. That description is consistent with the
21 long-standing process of the degeneration and
22 pseudogout.

23 Q. **All** right. Doctor, what others records did you
24 review.

25 A. I also reviewed records of George Doctors

1 another physician. Subsequently before that
2 further records of Dr. Wright who indicates that
3 when he last saw her, I believe it was July of
4 1996, that she had some symptoms by history, but
5 they needed not prevent her from leading an
6 active life and it indicates that she apparently
7 was doing an active amount of things at the
8 time. Subsequently to that records of
9 George Doctors were reviewed, he again indicates
10 the prior history of back surgery and prior
11 problems with the left leg that she had prior to
12 this car accident.

13 Q. You also reviewed I believe some records of
14 Dr. Vernon Patterson?

15 A. Yes.

16 Q. And did you also review MRI films of the knee
17 that were taken?

18 A. Yes.

19 Q. Doctor, do you have a **copy** of the MRI film or
20 films in front of you?

21 A. Yes.

22 Q. And have they been identified, please?

23 A. These have been labeled as Exhibit D.

24 Q. All right. If you would -- by the way, doctor,
25 what date were those taken, sir?

These MRI films were taken I believe June of
1997. Yes, June 3rd of 1997.

Q. And is this the MRI of Irene Stover?

A. Yes. Left knee.

Q. All right. Would you please put those on the
shadow box, if you would, sir.

A. okay.

Q. And would you describe for the jury what we are
looking at and the significance of what we
looking at?

A. Well, an MRI scan is a high-tech study that cuts
through the various parts of the body that we
ask for it to and gives us pictures of anatomy
and the things we can use to make diagnoses and
treatment. And what we see on this MRI scan
this is as though we are cutting through the
knee from the front to the back, and it's as
though we are looking from the knee from a side
view. And what we see of significance is this
is now in June of 1997 we see this degenerative
cyst of the patella in multiple views, and if we
moved the MRI over and kind of correlate that
with our plain x-ray, we see that this is
basically the same exact location of the
degenerative cyst that was present the week of

1 the automobile accident is still there a year
2 and a half later and goes along with the idea
3 that this is a long-standing degenerative
4 condition, and he would expect to find
5 degenerative changes in the area of the back of
6 the kneecap would have nothing to do with the
7 motor vehicle accident.

8 Q. With respect to the degenerative conditions that
9 you have described, is this the type of a
10 condition that will continue to get worse, stay
11 the same, get better?

12 A. Okay. **Well**, unfortunately, with all
13 degenerative arthritic conditions, especially
14 those people who have pseudogout on top of it;
15 they won't get better, they will only get worse
16 over time and that is something we see in our
17 clinical practice.

18 Q. Did you also review some records of doctor, I
19 think **it's** Victor Nemeth?

20 A. Yes.

21 Q. And I know that since the examination of
22 Irene Stover you've been supplied with other
23 records of Dr. Nemeth as well which outline a
24 subsequent surgery and follow-up care; is that
25 correct?

1 A. That's correct.

2 Q. Would you briefly outline those for the jury as
3 well?

4 A. Well, I reviewed doctors of Dr. Nemeth, who
5 indicate that she apparently came to see him a
6 year after she had last seen Dr. Wright, were
7 reporting some left knee complaints. He
8 subsequently did another arthroscopy on her knee
9 and found a continuation of the, essentially
10 degenerative conditions that were already noted
11 previously.

12 Q. Now this is two surgical procedures in what, a
13 little over a year and a half or about a year
14 and a half; is that correct?

15 A. Yes.

16 Q. Is that consistent with injury to a left knee in
17 the motor vehicle accident back on December the
18 2nd of 1995?

19 A. Well, it was found that the arthroscopies were
20 not consistent with any acute injury to the knee
21 at the time of that motor vehicle accident.

22 Q. What was it consistent with, sir?

23 A. Degenerative disease and pseudogout.

24 Q. Which you've already described?

25 A. Right.

1 Q. Okay. Doctor, what is synovitis?

2 A. Synovitis is inflammation of the synovial lining
3 of the knee or joint." In this case it would be
4 a knee.

5 Q. And there was no indication I believe on the
6 records that, I think it was from Dr. Nemeth,
7 that she did have synovitis; is that correct?

8 A. I think there was indication of some synovitis
9 present.

10 Q. Doctor, based upon the history given to you by
11 Irene Stover, based upon your examination and
12 the records that you reviewed, do you have an
13 opinion within a reasonable degree of medical
14 certainty as to whether or not the synovitis was
15 a direct result of the motor vehicle accident of
16 December the 2nd of 1995.

17 First of all, do you have an opinion, sir?

18 A. Yes.

19 Q. And what is that opinion?

20 A. It's **my** opinion that the synovitis was not a
21 direct result, It would be more than likely
22 related to the pseudogout and underlying
23 degenerative disease.

24 MR. MIRALDI: Objection to the
25 question and answer as it was not addressed

1 in his report.

2 Q. Doctor, what is -- you have to help me with this
3 term I think. A-r-a-c-h-n-o-i-d-i-t-i-s.

4 A. That's arachnoiditis.

5 Q. And what is that, sir?

6 A. Okay. Arachnoiditis is essentially inflammation
7 of the arachnoid membrane, and you probably
8 ought to talk about what the arachnoid membrane
9 is. The arachnoid membrane is lining around the
10 spinal contents that helps bathe it with spinal
11 fluid and arachnoiditis can occur after
12 surgery. She was noted to have this
13 arachnoiditis in her low back after her initial
14 surgeries. It was noted to be present before
15 the motor vehicle accident,

16 Q. Now, doctor, if one has a traumatic tear of the
17 medial meniscus, what would the symptoms, if
18 any, be at the time of the trauma or the blunt
19 -- this is blunt trauma, such as a knee hitting
20 a dashboard?

21 A. Well, if someone had an injury as a result of
22 their knee hitting the dashboard, I would expect
23 that there would be immediate pain in the knee
24 area. When we strike our knee on a dashboard or
25 fall forward and strike our knee on the ground I

1 think we all can relate that you tend to have
2 pain in the knee right away. This is something
3 you would expect to see. This is indicated to
4 not have been present, and in fact the records
5 indicate that the lower extremities were normal,
6 so this doesn't go along with an acute injury to
7 the left knee occurring at the time of this
8 motor vehicle accident.

9 Q. All right. Doctor, based upon the history that
10 was given to you by Irene Stover on the date of
11 your examination, your examination and the
12 records that you have reviewed, do you have an
13 opinion based upon a reasonable degree of
14 medical certainty of what if any injuries she
15 did sustain in the motor vehicle accident of
16 December the 2nd of 1995?

17 A. Yes.

18 Q. Would you please outline those for the jury, if
19 you would?

20 A. All right. Well, this is really primarily based
21 on her history, in other words, what she told
22 us, is that it's possible that she could have
23 sustained a neck and back strain. These
24 wouldn't have been indicated permanent and she
25 appears to have returned to her preexisting

1 condition. She had a lot of ongoing neck --
2 excuse me -- a lot of ongoing back and left
3 lower leg complaints well before the motor
4 vehicle accident. She would have been expected
5 to continue to have those. Those wouldn't have
6 anything to do with the motor vehicle accident.
7 She may have cracked a rib. It was a little
8 uncertain on the x-ray whether that was a real
9 rib fracture or just an artefact, but it may
10 have been, but it went on to heal and it
11 wouldn't be a permanent problem.

12 In regards to the left knee, by history
13 only, she may have had a symptomatic aggravation
14 of the preexisting degenerative disease which
15 was clearly present at the time of the motor
16 vehicle accident. Again, this is just based on
17 her history.

18 Q. Now, doctor, what about the arachnoiditis that
19 you talked about earlier, was that involved in
20 this at all?

21 A. The arachnoiditis was present before the motor
22 vehicle accident and wouldn't be expected to be
23 changed by a motor vehicle accident. This was
24 something to be present before it would be
25 expected to continue on as it was before.

1 Q. All right. Now, doctor, based upon the history
2 that was given to you by Irene Stover, your
3 review of the records or your examination of
4 her, in your knowledge as an orthopedic surgeon,-
5 do you have an opinion based upon a reasonable
6 degree of medical certainty whether Irene Stover
7 at the time of your examination had any
8 permanent or residual condition or injury that
9 can be directly related to the automobile
10 accident of December the 2nd of 1995.

11 First of all, do you have an opinion?

12 A. Yes.

13 Q. And what **is** that opinion, sir?

14 A. It's my opinion that she did not have any
15 permanent injuries as a result of that motor
16 vehicle accident.

17 Q. With respect to the first arthroscopic surgery
18 of March 25th **of 1996**, do you have an opinion
19 based upon a reasonable degree of medical
20 certainty as to whether that surgery was in any
21 way related to the motor vehicle accident of
22 December the 2nd of 1995.

23 First of all, do you have an opinion?

24 A. Yes.

25 Q. And what is your opinion, sir?

1 A. Well, it's my opinion that that orthoscopic
2 surgery was performed to address the underlying
3 long-standing degenerative and pseudogout
4 condition that was going on in the left knee. I
5 don't think that was directly casually related
6 to the accident, and I think that she would have
7 subsequent undergone that type of surgery
8 regardless of the motor vehicle accident.

9 Q. Same question with respect to that subsequent
10 surgery performed I believe in November of 1997?

11 A. well, it would essentially be the same answer
112 because I think that both surgeries, including
13 the second surgery, addressed the underlying
14 degenerative and pseudogout condition. And this
15 is just a continuation of a natural
16 deterioration of those processes, and that's
17 what. the point of the surgery was and that's
18 what was dealt with intraoperatively and she
19 would have required those procedures
20 irregardless of the motor accident.

21 Q. Then, doctor, then based upon the history given
22 to you by Irene Stover, based upon the
23 examination you conducted, the records you
24 reviewed, the x-rays, the MRI's that *you*
25 reviewed, do you have an opinion based upon a

1 reasonable degree of medical certainty whether
2 or not Irene Stover will in fact or does in fact
3 need a knee replacement?

4 A. Yes, I have an opinion.

5 Q. And what is that opinion, sir?

6 A. Based on my evaluation I don't think she is a
7 candidate for knee replacement surgery very
8 soon. She wouldn't need one as of the
9 evaluation I made of her. It is possible that
10 with the natural deterioration of the underlying
11 degenerative process that she could require knee
12 replacement in both knees in the future, but
13 that's uncertain at this point.

14 Q. If in fact she does require a knee replacement
15 in one or both knees, within a reasonable degree
16 of medical certainty, would that in fact in
17 anyway be related to the motor vehicle accident
18 of December the 2nd of 1995?

19 A. No.

20 MR. JEPPE: Thank you. I have
21 nothing further at this time.

22 THE WITNESS: You're welcome.

23 - - - -

24

25

1 CROSS-EXAMINATION OF TIMOTHY L. GORDON, M.D.

2 BY MR. MIRALDI:

3 Q. Doctor, I would like to just review what you've
4 got in your file before we get started, so if we
5 just go off the record for just a minute.

6 A. Sure.

7 VIDEO TECHNICIAN: We are off the
8 record.

9

10 - - - -
11 (Thereupon, a discussion was had off
12 the record.)

12

13 - - - -
14 VIDEO TECHNICIAN: We are on the
15 record.

16 Q. Doctor, you would agree with me that the defense
17 attorney arranged the single appointment with
18 Irene Stover with you?

19 A. Yes.

20 Q. That you did one examination, reviewed records
21 and reported directly to defense attorney?

22 A. Well, I reported to the individual who asked me
23 to perform the examination. I wrote the report
24 to them.

25 Q. Okay. And then the defense attorney **would** have
 paid *you* for your time in preparing the report

1 and for your time today?

2 A. Well, I expect to be paid for my time and
3 expertise o'f whoever asks for an evaluation,.

4 Q. Okay. You would agree with me that
5 Irene Stover's treating doctors did not seek
6 your involvement in this case?

7 A. No.

8 Q. And you would agree that you were not appointed
9 by the court as an independent medical examiner?

10 A. I am not sure what you mean by appointed by the
11 court. I was asked to perform an independent
12 medical exam by I think it was Mr. Margolis at
13 the time.

14 Q. Okay. As part of your practice you examined
15 people involved claims for the defense
16 attorneys, do you not?

17 A. I have.

18 Q. And these people then are not your patients?

19 A. No. They can't be.

20 Q. Okay. The vast majority of these examinations
21 are done for the party defending the claim, such
22 as the law firm in this case, perhaps an
23 employer in a workers' compensation matter.

24 A. I am not sure that's an accurate statement.

25 Q. How many defense examinations do you do per

1 week?

2 A. I perform independent medical evaluations. I've
3 done them for plaintiffs, I've done them for
4 defense, I've done them at the request of the
5 industrial commission, either at the request of
6 an employer or employee. It's just as an
7 independent evaluator. I am not really sure how
8 many I do in a course of a week.

9 Q. Do you have any type of average, whether it's
10 two or five or ten?

11 A. I don't really keep track of them to give you an
12 average.

13 Q. Would you say that 25 percent of your practice
14 is devoted to these types of examinations or is
15 that too high?

16 A. I don't know.

17 Q. Well, doctor, your deposition was taken in
18 another case in which the attorney who had asked
19 you to evaluate the client, that was the case of
20 Anthony Yakovella versus Kenneth Goldsten, and
21 that question was posed to you by a
22 Richard McDonald.

23 And the question was, in terms of the work
24 that you do related to what I will call a
25 medical legal type affairs, what percentage of

1 your time do you spend doing that.

2 And the answer was, oh I would suppose
3 approximately 25 percent or so.

4 Was that -- does that refresh your
5 recollection?

6 A. How long ago was that?

7 Q. That was on May 9th of 1996?

8 A. So two years ago I said maybe I suppose it mig
9 be an estimation. I am not sure that's
PO accurate. If I said that then that was a
11 supposed estimated at that point in time.

12 Q. Do you have any record of how many examination
13 you've done for this law firm of Meyers,
14 Hentemann, Schneider & Rea?

15 A. No.

16 Q. Have you done examinations for them in the past?

17 A. I may have. I am not sure how many.

18 Q. Now, you're probably aware that I did issue a
19 subpoena to Highland Musculoskeletal Associates
20 to obtain records to determine how many
21 examinations you did per year.

22 Are you aware of that?

23 a. It sounds vaguely familiar.

24 Q. Are you aware that Judge McGough issued an order
25 for certain records to be produced by Highland

From the des o
John R. Liber a

1 Musculoskeletal Associates?

2 A. As I recall, our corporate attorney responded to
3 that and I will defer to that.

4 Q. I am just going to hand that to you. I'll give
5 you a moment just to review it.

6 A. All right.

7 Q. Were you aware that the judge had asked that any
8 and all 1099 tax forms for 1995, '96 and '97 be
9 provided as well as all the cases in which you
10 have testified in the courtroom or by deposition
11 since January of 1 of '95 and your billing for
12 the defense medical of Irene Stover.

13 Were you aware that that was part of the
14 court order?

15 A. I don't recall specifically what was asked for.
16 To my understanding, our corporate attorney
17 would have responded to that and I'll defer to
18 that.

19 MR. JEPPE: It's my understand the
20 corporate attorney did respond to that in
21 writing. I got a copy of the letter that
22 was sent to opposing counsel. Other than
23 that, I have no idea what he supplied your
24 office.

25 Q. Would it surprise you that no documents or

1 records or lists have been provided to me by
2 your corporate attorney?

3 A Again, the corporate attorney responded to that
4 and I'll defer to that response. I am not the
5 corporate attorney.

6 Q Okay Would it surprise you that all of the
7 requested documents are allegedly not available
8 because they have been destroyed?

9 A Again, the corporate attorney responded to your
10 request, I'll defer to that.

11 Q Okay. Do you agree that Irene Stover sustained
12 some injuries from the automobile accident
13 December 2nd of 1995?

14 A Well, I've already told you that I am relying on
15 her history in that the story she chooses to
16 tell us. And I've already rendered opinions
17 based on that.

18 Q All right. And it would appear to me that you
19 do agree that she sustained a cervical strain
20 based on history?

21 A. She could have based on her history.

22 Q. A lumbar strain?

23 A. It's possible based on her history.

24 Q. Contusion to her chest?

25 A. Yes, based on her history.

1 Q. Possibly a nondisplaced rib fracture, but that's
2 not conclusive?

3 A. Correct.

4 Q. Temporary symptomatic aggravation of her
5 underlying low back condition?

6 A. Based on her history only. There is no
7 indication of any permanent changes there.

8 Q. And symptomatic aggravation of the underlying
9 condition in her left knee?

10 A. As I've already indicated, that's based solely
11 on her history. There is no indication there
12 was any significant structural alteration of the
13 underlying condition.

14 Q. Now you had the opportunity to review records;
15 is there -- you would agree with me that
16 Mrs. Stover was never treated for any problems
17 to her left knee before the auto accident of
18 December 2nd of 1995?

19 A. I am not sure I can answer that. I don't know
20 if she never was.

21 Q. But you're not aware that she was?

22 A. I am not aware that she was by her history. ✓

23 Q. Okay. Have you reviewed records of
24 Dr. George Adams a prior primary care physician
25 for Mrs. Stover?

1 A. I'm not sure if I reviewed those specifically
2 myself.

3 Q. They are not in 'front of you and you don't have
4 any record from your report of reviewing those?

5 A. There are numerous doctors that are listed as
6 her care providers that weren't made available
7 to me including I would assume that one also.

8 Q. All right. And a Dr. Robert Evans, did you ever
9 review his records as a primary care physician
10 for Mrs. Stover?

11 A. I don't believe so.

12 Q. In terms of Dr. Lindstruth's records, did you
13 have records that predated the auto accident?

14 a. I don't believe so.

15 Q. Would you like to have reviewed those records?

16 A. If they are available I'll review them. The
17 patient also gave me a history, but in addition
18 there is also indicated in the medical records
19 that a Dr. Gray **was** one of her treating doctors
20 at the time of the accident. There are no
21 records available from **Dr.** Gray either.

22 Q. Dr. Gray and Dr. Lindstruth were partners at the
23 time of the accident so that--

24 A. Okay.

25 Q. -- Dr. Lindstruth and Dr. Gray's records are

1 synonymous,

2 A. All right.

3 a. But based on what you've testified to, we can
4 agree that you certainly were provided no
5 records to show that she had any prior treatment
6 for her left knee?

7 A. That's correct.

8 Q. Or her right knee?

9 A. That's correct.

10 Q. Would you agree with me that not all symptoms
11 are evident on the date of an accident?

12 A. Depends.

13 MR. JEPPE: Objection, The
14 generality of the question. Go ahead.

15 A. Depends on **what symptoms you're** asking about.
16 From what kind of process, you know, you need 'be
17 to more specific.

18 Q. Well, it's not unusual for neck or back
19 complaints to develop a day **or** two after the
20 accident or several **days**?

21 A. It's not been my experience.

22 Q. That's not your experience.

23 Are **you** saying you have knowledge **of** that
24 never happening?

25 A. Well, once again we are coming into something

1 that is a history. People can report whatever
2 they care to report. History given if someone
3 wants to tell me they have a red car, I don't
4 know if they do or don't have a red car. It's a
5 part of history. We can write it down if they
6 want us to.

7 The issue is is that when people have soft
8 tissue strains, you know, they may have some
9 awareness of if they got neck strain. The may
10 have some awareness of a neck strain the day of
11 the injury, maybe worse the next day, but
12 usually there is some awareness that there is
13 something going on there the day of.

14 I think what we are talking about here is
15 this knee injury that she says she hit her knee
16 on the dashboard the day of and that it was
17 hurting her right away. That's what she told
18 me. Well, that's not what the emergency room
19 records indicate. They evaluated the lower
20 extremities and there is nothing there, so that
21 doesn't go along with the history, so when the
22 records and the histories don't go together then
23 that certainly raises questions as to, you know,
24 the suggestion of the history.

25 Q. I think my question was whether all symptoms are

1 evident on the day of the accident and you took
2 that opportunity to talk about --

3 A. I am trying to be specific, because I already
4 asked you, we need to be specific, so I tried to
5 be specific.

6 Q. All right. And in terms of Mrs. Stover you're
7 talking about the emergency room and your review
8 of those records. You saw the ambulance run
9 report, did you not, that said that the seat
10 belt did not hold her?

11 A. Yes. I have that here in front of me.

12 Q. And that she in fact indicated that her chest
13 hit the dashboard, did she not?

14 A. Apparently.

15 Q. And the knee is also close to the dashboard, is
16 it not, in most cars?

17 A. I think it depends on the car, and if you want
18 to think about biomechanics the back can flex
19 forward and you can probably hit your chest
20 before you hit your knee on the dash. I mean
21 that can happen, too, so I am not sure that's
22 very helpful.

23 Q. Well, and also the knee is usually closer to the
24 dashboard than the chest, is it not?

25 A. Again, I think it depends on what vehicle you're

2 talking about, how you're sitting. I mean all
2 kinds of factors come in. Clearly in this
3 record there is no indication of any complaints
4 regarding the knee or hitting her knee on the
5 dashboard. That's not there.

6 Q. Now, doctor, she -- we agree that she did not
7 report any injury to her knee? At least it's
8 not reflected in the emergency room record that
9 she reported any injury to her knee. *

10 We agree on that?

11 A. Well, I think I've already told you that they
12 evaluated her lower extremities and they were
13 noted to be normal. They looked at her legs.

14 Q. Your answer **is** yes?

15 A. My answer is what I am telling you, is that they
16 evaluated the lower extremities, they were
17 indicated to be normal and have full range of
18 motion. There is no indication of knee injury
19 on the evaluation of the emergency room.

20 Q. Doctor, if the patient does not report a knee
21 injury, are they are going to have an extensive
22 examination of the lower extremity or is it
23 going to be a very superficial?

24 A. I guess you're not getting my point. **Is** that
25 the way doctors are trained to evaluate

1 individuals is that you listen to their
2 complaints and evaluate based on their
3 complaints plus other evaluations.

4 They looked at her legs. Had she had a
5 significant enough injury to the knee to cause a
6 problem, certainly, 'it would have been evident
7 at that time. That's been my experience with my
8 own patients that have injuries to the knee of
9 this type. This doesn't make any sense. There
10 is no indication of any knee injury in the
11 emergency room. The area was evaluated and it
12 was indicated to be normal. That's what the
13 record says.

14 MR. MIRALDI: Could you read back
15 my question, please.

16 - - - -

17 (Thereupon, the requested portion of
18 the record was read **by** the Notary.)

19 - - - -

20 Q. Doctor, I don't believe you responded to that
21 question.

22 A. I did respond to the question. I am trying to
23 explain to you how things work in emergency
24 rooms. There is no indication that this was a
25 superficial evaluation. Her lower extremities

1 were evaluated not only the admitting nurse, but
2 also the evaluating physician, so that's not a
3 superficial examination. That's rather thorough
4 actually.

5 Q. Were you present at the emergency room to know
6 that it was a thorough examination of her lower
7 extremity?

8 A. Of course I wasn't present. I am relying on the
9 medical records which are clearly documented
10 here, and both the nurse and the doctor
11 evaluated both the lower extremities and note
12 that.

13 Q. Doctor, do you agree that Mrs. Stover told the
14 nurse that Dr. Lindstruth's office two days
15 after the accident that she had injured her
16 knees in the accident?

17 A. There is reference to the knees in the
18 subsequent. I already noted that.

19 Q. All right. And did it also indicate a contusion
20 of the knees? Is that in the nurse's notes?

21 A. That reference is made.

22 Q. And, in fact, ten days after the accident
23 Dr. Lindstruth ordered x-rays of the left knee?

24 A. That's correct.

25 Q. Is it fair to assume that her complaints were

1 predominantly to the left knee if that's where
2 the x-rays were ordered?

3 A. I am not sure of that. You have to ask
4 Dr. Lindstruth. Clearly the records indicate
5 knees.

6 Q. Will you agree with me in terms of the emergency
7 room treatment it was predominantly for her neck
8 back and chest. These were the areas in which
9 she made complaint.

10 A. We have already gone over those the areas she
11 made complaint to.

12 Q. So you do agree with me on that?

13 A. I am not sure what you're asking me. I mean we
14 have already gone over what she complained of,
15 what she didn't complain of, what was
16 evaluated. There is no indication of any knee
17 injury or knee complaints in the emergency room
18 record despite the area being evaluated.

19 Q. Doctor, regardless of causation, do you agree
20 that Mrs. Stover needed the first orthoscopic
21 surgery on her left knee? ✓

22 A. Okay. You mean irrespective of what it would be
23 related to?

24 Q. Yes,

25 A. It was reasonable medical treatment.

1 Q. It was appropriate treatment for her situation?

2 A. Reasonable, certainly.

3 Q. Do you also agree that her second surgery was
4 appropriate and reasonable medical treatment?

5 A. Again, we are not talking about occasion?

6 Q. That's correct.

7 A. Okay. That's fine. It was reasonable.

8 Q. Doctor, when you completed your examination of
9 Mrs. Stover back in August of '97, did you
10 believe that she would need further treatment on
11 her left knee after you conducted your
12 examination?

13 A. Let me look back in the report.

14 I note in my report and after reviewing it
15 and what I've testified to already is I think
16 she had arthritis in her left knee at the time I
17 evaluated her. I noted in the report that as we
18 already talked about arthritis can get worse and
19 it could require future surgical intervention.

20 Q. You didn't put that in your report that she
21 would need future surgery, but you would believe
22 that it would be reasonable if that occurred?

23 A. Well, I note that as we understand about
24 arthritic conditions is they don't get better
25 unfortunately, but they can progress and with

1 that in mind, it's possible she could require
2 further surgical intervention. I already said
3 that.

4 Q. Now, you said in direct exam that she is a
5 candidate for knee replacement surgery.

6 A. Possibly. Possibly. Not at this point in time.

7 Q. Now, doctor, when you conducted your examination
8 that was after Dr. Wright had done his
9 arthroscopy, but before Dr. Nemeth had done his
10 arthroscopic examination; is that correct?

11 A. That is true.

12 Q. Wouldn't you agree that Dr. Nemeth is in a
13 better position to determine whether Mrs. Stover
14 needs a knee replacement than you?

15 a. I don't agree with that. I've had the
16 opportunity to review his reports, his findings
17 what he found at the time of arthroscopy. I
18 wouldn't think that she would need a knee
19 replacement now. It's possible she could need
20 one in the future. We've already discussed
21 that.

22 a. Now do you do that surgery yourself, knee
23 replacement?

24 1. Yes.

25 2. What normally is a surgeon's fee for that?

1 A, Depends. It varies from surgeon to surgeon.

2 Q. What is your charge?

3 A. Oh, it could be anywhere from \$5,000 to more
4 depending on what is all involved.

5 Q. In the length of disability after a person has a
6 knee replacement, how long are they -- have
7 limitations normally from that type of surgery?

8 A. Oh usually there is six week or so period of
9 therapy. Most people do pretty well after that
10 period of time and are up and around quite well.

11 Q. How long is the physical therapy?

12 A. It depends from person to person. Some people
13 don't need much physical therapy. Some people
14 need more. It depends.

15 Q. Doctor, I believe I heard you on direct exam say
16 that Mrs. -- well, let me back up.

17 Do all persons with arthritic changes in
18 their knee require surgery?

19 A. No.

20 Q. Do all people with arthritic changes in their
21 knee have symptoms?

22 A. Depends. Symptoms are very subjective issue, so
23 one person's symptoms may be nothing to someone
24 else. That's a very difficult question to
25 answer. It's a subjective issue,

1 Q. There are people though that have degenerative
2 changes in their knee and have no pain?

3 A. Well, I've already tried to answer that question
4 for you. That issue of pain and symptoms is a
5 purely subjective issue, What one individual
6 might think are horrible symptoms another
7 individual might report I don't have any
8 problems, so that's subjective.

9 Q. And someone may not have any problems?

10 A. Well, subjective report of complaints, it
11 depends. It's subjective. I am trying to
12 explain that.

13 Q. Now, you're saying that Mrs. Stover would have
14 had the knee surgery regardless **of** the accident?

15 a. Yes. That's based on what was found actually in
16 the knee at the time **of** the surgery, plus what
17 we know was found in diagnostic studies right
18 after the surgery. I would render that opinion,
19 yes.

20 Q. She certainly did not need the surgery as long
21 as the left knee was not painful, did she?

22 **a.** Well, again, that's subjective. She apparently
23 reported that she was having some complaints
24 regarding the left knee.

25 **a.** But certainly during the period of time where

1 she was, where she had not reported any
2 complaints of pain to any physician she was not
3 a candidate for surgery, she did not need
4 immediate surgery on her knee when she was not
5 making complaints?

6 A. Your question is -- you seem to be asking a
7 number of questions in a question.

8 Q. Yes, let me repeat **it** then.

9 A. Would you please.

10 **a.** Let me repeat it.

11 A. Okay.

12 Q. Mrs. Stover did not need surgery on her left
13 knee during the period of time when she was not
14 reporting any complaints **of** pain to her left
15 knee?

16 A. Well, once again, we are getting into this issue
17 of reporting symptoms and, you know, **do** you have
18 all the medical records that are available from
19 everywhere. That is a subjective issue again.
20 She had the surgery for degenerative problems.
21 That's what was found at the time of the
22 surgery. And that's what was treated. Clearly
23 that was there before the motor vehicle
24 accident.

25 Q. Well then, are you saying she needed surgery

1 before the motor vehicle accident?

2 A. She may have. I have not had a chance to look
3 at all the records from before. She may have.
4 Based on her history she says she didn't have
5 any symptoms in her knee. That's based on her
6 history.

7 Q. Doctor, can trauma accelerate or make worse
8 arthritic conditions in the knee?

9 A. Anything is possible. You have to look at each
10 specific situation individually to access that.

11 Q. Was Mrs. Stover more susceptible to traumatic
12 injury in her left knee because of the
13 underlying degenerative condition?

14 A. It's too general of a question to answer. In
15 other words, we know she had degenerative
16 changes in her knee at the time **of** motor vehicle
17 accident. And there is a significant question
18 in our minds as did she even have an injury of
19 the left knee at the **time** of time of the motor
20 vehicle accident based ~~on~~ the records. So to
21 say she's more susceptible, she already had
22 degenerative changes. Based on what **I** found on
23 the records at the time of the initial
24 arthroscopy, **all** of those findings would have
25 been expected to be there for some time and

1 preexist the motor vehicle accident in question.

2 Q. I am not sure that you answered my question.

3 Was she more susceptible to injury or not
4 in her knee because of the --

5 A. There is no indication based on the records we
6 have that she was. The findings that were noted
7 and documented at the time of the surgery and
8 also x-rays we have gone over, all those are
9 consistent with degenerative and pseudogout
10 conditions that were there before this motor
11 vehicle accident. There is no indication they
12 changed. They didn't have any acute appearance
13 to them or new kind of appearance, so that
14 doesn't go along with any new changes.

15 Q. So you disagree with Dr. Wright who in his
16 report that you've reviewed indicated that he
17 believed the meniscal tear was probably related
18 to the automobile accident.

19 Do you agree or disagree with that?

20 A. I would disagree with that based on the
21 extensive degenerative changes noted at the site
22 of the meniscal tear that went all the way
23 through the meniscus, with all those findings,
24 those are classic. They are long-standing
25 degenerative changes. I see them all the time

1 in my own practice.

Q. So you disagree with him. That's fine.

3 And you also disagree with Dr. Nemeth in
4 terms of his opinion that the second arthroscopy
5 was necessitated because of the injuries in the
6 accident?

7 A. It's my opinion that all of the surgeries were
8 the result *of* a continuation, a natural
9 deterioration *of* the underlying degenerative and
10 pseudogout condition of the knee. Not as a
11 result of the motor vehicle accident.

12 Q. Doctor, did Mrs. Stover ever cry out in pain
13 during your examination of her?

14 A. I am not sure what you mean by cry out in pain.

15 Q. Well, make an audible sign, sound that would
16 reflect that she was in pain?

17 A. You know, I don't recall specifically noises
18 that were made during the examination.

19 Q. Now, you've testified that she had degenerative
20 conditions in her hands. Is there a need for
21 surgery on her hands?

22 A. She may require it in the future. The reason I
23 brought up the degenerative changes in the
24 hands, it goes along with the degenerative
25 changes we know about in her neck, her spine,

1 her knees, her hands. Those are all physical
2 exam findings that we commonly see in people who
3 have arthritis. It just goes along with that.

4 Q. Now; you've reviewed Dr. George Doctor's the, ^{Dalke}
neurosurgeon's records, where he has testified
6 -- or he has stated that there is aggravation
7 of chronic lumbar arachnoiditis probably
8 resulting from the accident.

9 Do you agree or disagree with that?

10 A. Well, I think that is only by history. That
11 that's a symptomatic kind of report. Clearly
12 she had ongoing problems with her back well
13 before that. That's well documented in those
14 records, too, and that based on my evaluation'
15 and what she complained of and my exam, she
16 looks as though she returned to her preexisting
17 state in that regards.

18 Q. So you disagree with Dr. Doctors? ^{Dalke's}

19 A. I already told you she may have had a temporary
20 symptomatic aggravation of the back condition,
21 but it's not permanent. It's gotten better.

22 Q. Well, I am specifically referring to aggravation
23 of arachnoiditis, lumbar arachnoiditis. I take
24 it from your answer that you disagree with
25 Dr. George Doctors that she had an aggravation

1 of that condition?

2 A. Based on the history, that's what he is
3 apparently going on. It doesn't make sense to
4 me that you would aggravate a postsurgical
5 condition without further surgery in that
6 specific case, so I would not agree with that.

7 Q. All right. That's all I am looking for,
8 agreement or disagreement.

9 Do you agree with him that she sustained an
10 acceleration/deceleration soft tissue injury of
11 the cervical spine.

12 MR. JEPPE: At this point, is
13 Dr. Doctors going to be called as a witness
14 in the case?

15 MR. MIRALDI: Not that I'm aware
16 of.

17 MR. JEPPE: I'll object to any
18 questions with regards to Dr. Doctors.

19 You may answer, if you know.

20 a. Go ahead. I am sorry. Could you repeat that?

21 Q. Do you agree or disagree with his finding in the
22 record that you reviewed that she sustained an
23 acceleration/deceleration soft tissue injury of
24 the cervical spine?

25 A. I think that equates to a neck strain. We have

1 record.

2 - - - -

3 (Thereupon, a discussion was had! 'off
4 the record.)

5 - - - -

6 MR. JEPPE: Doctor, do you waive
7 the signature *of* the transcript **and** waive
8 the viewing *of* the video?

9 THE WITNESS: Yes. Waive.

10 MR. JEPPE: Okay.

11 MR. MIRALDI: Fine with me.

12 (Signature waived.)

13

14

15

16

17

18

19

20

21

22

23

24


25

C E R T I F I C A T E

The State of Ohio,) SS;
County of Cuyahoga.)

I, X. John Revmatas, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named TIMOTHY L. GORDON, M.D. Was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and the reading and signing of the deposition was expressly waived by the witness and by stipulation of counsel; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulation of counsel; and that I am not a relative or employee or attorney of any *of* the parties, or a relative or employee of such attorney, or financially interested in this action,

IN WITNESS **WHEREOF**, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this 9th day of JULY A.D. 19 98.



X. John Revmatas, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 28, 2001

W I T N E S S I N D E X

PAGE

DIRECT EXAMINATION

TIMOTHY L. GORDON, M.D.

BY MR. JEPPE..... 4

CROSS-EXAMINATION

TIMOTHY L. GORDON, M.D.

BY MR. MIRALDI.....E X H I B I T I N D E X... 41

EXHIBIT

. MARKED

Defendant's Exhibits A

through D..... 3

O B J E C T I O N I N D E X

OBJECTION BY

PAGE

MR. MIRALDI: 26

MR. MIRALDI: 34

MR. JEPPE: 49

MR. JEPPE: 65

25