

GEORGE E. LOUCAS CO., L.P.A.
ATTORNEYS AT LAW
1370 Ontario Street, Suite 1700
Cleveland, Ohio 44113-1726

GEORGE E. LOUCAS, R.Ph., J.D.

PENNY E. LOUCAS, J.D. *

CATHRYN N. LOUCAS, J.D. †

May 10, 2000

Telephone: (216) 622-1234

Facsimile: (216) 696-1855

*ALSO ADMITTED IN
PENNSYLVANIA & WEST VIRGINIA
† ADMITTED IN
PENNSYLVANIA & WEST VIRGINIA
Robert V. Housel, Esq.
1350 Illuminating Building
Cleveland, Ohio 44113

Dear Bob:

Enclosed please find a copy of the transcript from the video deposition of Dr. Timothy Gordon. Knowing how you approach these matters, I am delighted to be able to provide it to supplement any files that you are maintaining on an ongoing basis.

I did want to let you know that we had the opportunity to review his appointment books while we were out there after working in conjunction with his corporate attorney as you had seen. His appointment book, in contrast to pre-October 1998 days of deliberately destroying records, does identify IME's and lawyers. Moreover, we noted that the appointment book had entire days blocked off for him to see patients in behalf of the Industrial Commission. We also noted that he blocked off entire half days for deposition and there were occasions when he would do two IME's and two depositions a week in conjunction with the Bureau work.

We did sign the confidentially agreement only with regard to divulging patient identification so as to avoid privilege violations. We have notes from our review of the appointment books should you or anybody else ever need them but we would have to omit patient identities, of course.

By copy of this letter to David Paris, I am also submitting a copy of this transcript to the Cleveland Academy as well as the information that I have just divulged in the hopes that others will have more success than I did against Dr. Gordon. You will note my embarrassment in the deposition at cross-examining him over a report which I erroneously thought was his, when it was in fact Dr. Corn's. It is difficult to sift through the mountains of information on these two fellows without getting confused at times is my excuse.

Be that as it may, David, the testimony involves the proximate cause issue of whether the impact of the left knee to the dashboard in an automobile collision could cause a Grade III complete tear of the anterior cruciate ligament.

Sincerely,

GEORGE E. LOUCAS CO., L.P.A.

George E. Loucas

GEL:pm

Enclosure

cc: David Paris, Esq.

IN THE COURT OF COMMON PLEAS
OF CUYAHOGA COUNTY, OHIO

LAZO DEVIC,

Plaintiff,

vs.

Case No.

JOSEPH MARCIANO, JR.,

418009

et al.,

Defendants.

- - - - -

Videotaped deposition of TIMOTHY
L. GORDON, M.D., called for examination
under the statute, taken before me,
Kimberly K. Hargis, a Registered
Professional Reporter and Notary Public
in and for the State of Ohio, pursuant
to notice and stipulations of counsel,
at the offices of Dr. Gordon, 850
Brainard Road, Highland Heights, Ohio,
on Monday, May 7, 2001, at 11:56
o'clock a.m.

- - - - -

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650

www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 2

1 APPEARANCES:

2
3 On behalf of the Plaintiff:
4 GEORGE LOUCAS, ESQ.
5 CATHY LOUCAS, ESQ.
6 1370 Ontario Street,
7 Suite 1700
8 Cleveland, Ohio 44113
9 (216) 622-1234

10
11 On behalf of the Defendants:
12 Hanson Law Offices,
13 by, JOSEPH R. TIRA, ESQ.
14 55 Public Square,
15 Suite 1331
16 Cleveland, Ohio 44113
17 (216) 241-0286

18 ----
19 ALSO PRESENT
20 Ray Glasser, Video Technician
21 ----
22
23
24
25

Page 4

1 provided by the Ohio Rules of Civil
2 Procedure, being by me first duly sworn,
3 as hereinafter certified, deposed and
4 said as follows:

5 EXAMINATION OF
6 TIMOTHY L. GORDON, M.D.
7 BY-MR.TIRA:

8 Q. Doctor, will you state your
9 full name for the ladies and gentlemen
10 of the jury please?

11 A. Timothy L. Gordon.

12 Q. We're here at your office
13 this morning?

14 A. Yes.

15 Q. And your office is located
16 where?

17 A. 850 Brainard Road in
18 Highland Heights, Ohio.

19 Q. You are a medical doctor?

20 A. Yes.

21 Q. Okay. Will you tell us a
22 little bit about your educational
23 background beginning with high school
24 please?

25 A. I went to high school

Page 3

1 VIDEO TECHNICIAN: It
2 is Monday, May 7th, 2001, 12 noon. We
3 are on the record. Will the court
4 reporter please swear in the witness.

5 MR. TIRA: Before we get
6 started, let the record reflect that
7 this is the videotaped
8 trial deposition of Dr. Timothy Gordon
9 being taken by videotape and also
10 stenographically. The videotape will be
11 shown to the jury in this action in
12 lieu of Dr. Gordon's personal appearance
13 at trial. And this deposition is
14 pursuant to notice. I'd ask for a
15 waiver of defect in the notice and
16 service thereof.

17 MR. LOUCAS: Yes. And
18 of course at this time in return I
19 would ask the same thing, waiver of
20 notice or any defect with tomorrow's
21 deposition of Dr. Lika.

22 MR. TIRA: Definitely. No
23 problem. Swear the witness in please.

24 TIMOTHY L. GORDON, M.D., of
25 lawful age, called for examination, as

Page 5

1 locally at Brush High School. I went
2 on to do my college training at the
3 Ohio State University. Then I did my
4 medical school training at the Case
5 Western Reserve University School of
6 Medicine. And then I did my training in
7 orthopedic surgery at the Mt. Sinai
8 Medical Center in a five-year residency
9 program.

10 Q. Okay. Doctor, are you
11 licensed to practice medicine in the
12 State of Ohio?

13 A. Yes.

14 Q. And when were you so
15 licensed?

16 A. In 1986.

17 Q. Do you practice in one area
18 of medicine?

19 A. Yes, I specialize in the
20 area of orthopedic surgery.

21 Q. And will you define
22 orthopedic surgery for us please?

23 A. All right. Orthopedic
24 surgeons are trained in the surgical and
25 nonsurgical treatment of diseases,

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 6

1 injuries, processes that involve the
2 musculoskeletal system, That would
3 include the spine, the extremities,
4 bones, joints, ligaments, nerves,
5 muscles.
6 Q. Okay. Doctor, are you board
7 certified in orthopedics?
8 A. Yes.
9 Q. What does it mean to be
10 board certified in your area of
11 specialization?
12 A. Well, board certification is
13 above and beyond medical licensure. It
14 means that I have gone through an
15 extensive testing program, extensive
16 written examination after I finished my
17 residency and was in private practice
18 two years, and went through an extensive
19 oral examination, passed all of that and
20 was elected to be board certified.
21 Q. Doctor, do you have
22 privileges at any area hospitals?
23 A. Yes.
24 Q. Which ones?
25 A. Euclid, Hillcrest, Lake also

Page 8

1 Q. Would that include the
2 anterior cruciate ligament?
3 A. Yes.
4 Q. Would it include tears of
5 that ligament?
6 A. Yes.
7 Q. Have you in your practice
8 performed surgery upon patients' knees?
9 A. Yes.
10 Q. And have you in your
11 practice performed repairs of torn
12 anterior cruciate ligaments?
13 A. Yes.
14 Q. And other ligaments of the
15 knee?
16 A. Yes.
17 Q. Doctor, on behalf of the
18 defendant in this case, Mrs. Lori
19 Marciano, you were asked to review
20 various records of the plaintiff, Lazo
21 Devic, were you not?
22 A. Yes.
23 Q. And when did you review
24 those records?
25 A. I reviewed them in June of

Page 7

1 University, Richmond, Bedford.
2 Q. Do you belong to any medical
3 professional organizations or societies?
4 A. Yes.
5 Q. Would you list just a few
6 for us?
7 A. Yes, I am a fellow of the
8 American Academy of Orthopedic Surgeons,
9 also member of the American Medical
10 Association and local.
11 Q. Are you published in
12 orthopedic surgery?
13 A. Yes.
14 Q. And have you held a teaching
15 position?
16 A. Yes, I've instructed anatomy
17 at the Case medical school in the past.
18 Q. In your practice, Doctor, do
19 you see patients who have suffered knee
20 injuries?
21 A. Yes.
22 Q. Would that include patients
23 who have suffered injuries to the
24 ligaments and menisci of their knees?
25 A. Yes.

Page 9

1 2,000.
2 Q. And would you tell us please
3 what records you reviewed?
4 A. I reviewed records from EMS,
5 an Ohio Traffic Crash Report, Bedford
6 Medical Center emergency room record,
7 records of Dr. Brian Boza, records of
8 Dr. Vangelos, records of Dr. Lika, and
9 reports regarding radiographic studies
10 including an MRI study.
11 Q. MRI scan of what part of the
12 body?
13 A. That would be the left knee.
14 Q. Okay. And have you also had
15 an opportunity to review the surgical
16 record relative to the December 1999
17 surgery performed by Dr. Lika at
18 Southwest General Hospital upon the
19 plaintiffs left knee?
20 A. Yes.
21 Q. Doctor, based upon your
22 review of the aforementioned medical
23 records you told us you have reviewed,
24 have you formed an opinion based upon
25 reasonable medical certainty as to

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 10

1 whether the plaintiff, Lazo Devic, tore
2 his anterior cruciate ligament in the
3 motor vehicle accident of September 16,
4 1998, which is the subject of this
5 litigation?
6 MR. LOUCAS: Objection.
7 YOU may answer.
8 A. Yes, I have an opinion.
9 Q. And what is your opinion?
10 MR. LOUCAS: Objection.
11 You may answer.
12 A. It's my opinion that he did
13 not sustain an anterior cruciate
14 ligament tear in that motor vehicle
15 accident.
16 Q. Of the left knee?
17 A. Yes, that is correct.
18 Q. And what is the basis for
19 your opinion, Doctor?
20 A. The basis for my opinion is
21 that the medical records around the time
22 of the accident, specifically the EMS
23 report and the emergency room records do
24 not support that he injured his left
25 knee in the motor vehicle accident.

Page 11

1 Q. Based upon your review of
2 the aforementioned records, Doctor, do
3 you have an opinion based upon
4 reasonable medical certainty as to
5 whether or not the plaintiff, Lazo
6 Devic, suffered any injury to his left
7 knee in the September 16, 1998 accident?
8 A. Yes, I do.
9 Q. Okay. And what is the basis
10 for that opinion, Doctor?
11 A. Well, it's my opinion that
12 he did not sustain an injury to the
13 left knee. And the reason for that is
14 the medical records indicate that at the
15 time of the evaluation shortly after the
16 motor vehicle accident there were no
17 complaints or findings regarding the
18 left knee, and that's inconsistent with
19 an anterior cruciate ligament tear
20 occurring at the time of the accident.
21 Q. Okay. And these opinions
22 you've just given testimony to, Doctor,
23 are held with reasonable medical
24 certainty?
25 A. Yes.

Page 12

1 Q. Okay. Doctor, you have seen
2 patients and treated patients with a
3 complete tear of their anterior cruciate
4 ligament?
5 A. Yes.
6 Q. Okay. What are the
7 mechanics of tearing one's anterior
8 cruciate ligament? How does it happen?
9 A. Most commonly the anterior
10 cruciate ligaments get torn in injuries
11 where the individual can be running and
12 then suddenly cuts, changes direction
13 and sometimes loses balance or just
14 rotates on the knee forcefully while
15 running, standing, bearing weight on it,
16 and can tear the ligament that way,
17 sports type activities. Other ways,
18 contact, typical clipping type injury.
19 That's one of the reasons that down
20 field blocking isn't encouraged in
21 sports from a clipping point of view
22 because you can tear the anterior
23 cruciate ligament with a hit to the
24 knee that way. The other way --
25 Q. I'm sorry, Doctor.

Page 13

1 A. The other issue is contact
2 and typically sports type injuries.
3 Q. Doctor, when one suffers a
4 complete tear of the anterior cruciate
5 ligament in an accident or when cutting,
6 a traumatic injury, what would you
7 expect the individual to experience?
8 A. The expectation is that
9 individual has immediate onset of pain
10 in the knee and very importantly they
11 have very rapid swelling because the
12 anterior cruciate ligament does have
13 some blood supply within it that when
14 it's ruptured it bleeds and they get an
15 acute hemarthrosis or very acutely
16 swollen knee which does have blood in
17 it.
18 Q. How long after a traumatic
19 complete tear of the anterior cruciate
20 ligament would you expect an individual
21 who suffers this injury to realize he
22 or she has suffered the injury?
23 A. My experience is that the
24 individual is aware that they have
25 significantly injured the knee at the

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 14

1 time of the injury and then subsequently
2 there is significant swelling of the
3 knee in the following hour or so.

4 Q. Within an hour or so?

5 A. Yes.

6 Q. Doctor, when an individual
7 is in a motor vehicle accident and
8 strikes his or her knees on the
9 dashboard, what type of mechanics of a
10 collision have you seen that occur in
11 your practice?

12 A. Most commonly when people
13 have dashboard type injuries to the
14 knee, typically a head-on collision
15 where the individual is thrown forward
16 with significant force and the front of
17 their knee, typically the tibia, hits
18 the dashboard. The classic injury is a
19 posterior cruciate injury where the
20 tibia is driven posteriorly relative to
21 the femur, and the posterior cruciate
22 ligament is injured. That's not an
23 anterior cruciate ligament injury. It's
24 unusual to have anterior cruciate
25 ligament injuries in motor vehicle

Page 16

1 on a dashboard in a motor vehicle
2 accident and it's of sufficient force to
3 suffer a posterior cruciate ligament
4 tear, why is it -- I believe you said
5 ordinarily it's the posterior and not
6 anterior cruciate ligament that you see
7 torn in that type of accident.

8 A. Ail right. That's because
9 of the mechanism of injury. If you
10 think of a person sitting in an
11 automobile seat, hopefully they're
12 restrained by a seat belt, and the idea
13 is that if they're hit head on, their
14 body goes forward. The seat belt usually
15 restrains them, but they may slip
16 forward a bit. And depending on how
17 close they are to the dashboard to
18 begin with, the issue is if they hit
19 their -- they can hit their knees on
20 the dashboard. But the issue is they
21 hit their tibial tuberosity, which is
22 that bump in front of the knee, which
23 is the tibia. So here is the femur like
24 this, and the tibia is down here. And
25 they tend to hit this area, this gets

Page 15

1 accidents.

2 Q. Okay. And why is it
3 unusual?

4 A. Because the individual is
5 sitting. They're not bearing weight on
6 the leg. Usually the leg is flexed
7 some degree. That's how we typically
8 sit in automobiles, and it's not a
9 usual mechanism for an anterior cruciate
10 ligament tear.

11 Q. Doctor, you mentioned the
12 posterior cruciate ligament. Where is
13 that in relationship to the anterior
14 cruciate ligament?

15 A. Well, the word cruciate
16 ligament means crossing. If you think
17 in the knee they kind of cross like my
18 fingers do here. Anterior cruciate is
19 this finger and the posterior cruciate
20 is the one behind it meaning posterior.
21 And they prevent motion of the tibia
22 relative to the femur anteriorly for the
23 anterior cruciate and posteriorly for
24 the posterior cruciate.

25 Q. When one strikes one's knee

Page 17

1 driven posteriorly and ruptures the
2 posterior cruciate ligament is the
3 mechanism of how that happens. So if
4 the individual goes forward in the seat,
5 they tend to hit their tibia on the
6 dash.

7 Q. As you said, the tibia goes
8 backward?

9 A. Correct, relative to the
10 femur.

11 Q. And the femur is the thigh
12 bone?

13 A. Yes.

14 Q. What direction does the
15 femur go?

16 A. The femur is going forward
17 because the femurs get pushed forward by
18 the body moving forward.

19 Q. Doctor, have you -- I
20 believe you said you had an opportunity
21 to review the MRI report obtained
22 relative to Mr. Devic's left knee in
23 October of 1998?

24 A. Yes.

25 Q. And I believe in that report

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 18

1 there is some mention of a contusion
2 and edema being present?
3 A. Yes.
4 Q. Do you recall seeing that?
5 A. Yes.
6 Q. And what is the contusion
7 and edema due to in your opinion with
8 reasonable medical certainty?
9 A. There's in the MRI scan they
10 talk about there being signal change,
11 edema, bone contusion in the tibial
12 plateau area, the proximal tibia.
13 There's also an anterior cruciate
14 ligament disruption. And the issue is
15 in anterior cruciate deficient knees, in
16 other words, knees who have torn
17 anterior cruciates, there's abnormal
18 forces in the knee and it's not unusual
19 to see edema of the marrow or marrow
20 changes. It can be traumatic, but it
21 doesn't have to be. It can be on an
22 ongoing basis. In this individual's
23 medical records, the records don't
24 support that the findings on the MRI
25 scan occurred at the time of the motor

Page 20

1 Q. Okay. Doctor, based upon
2 your review of the EMS run report and
3 the Bedford Hospital emergency room
4 record of September 16, 1998, were you
5 able to ascertain what complaints and
6 findings of injury there were made at
7 that time?
8 A. Yes.
9 MR. LOUCAS: Objection,
10 not within his medical report, but go
11 ahead.
12 Q. Okay. What do the records
13 indicate?
14 A. The records indicate that at
15 that time he complained of hitting the
16 left area of his forehead and also
17 right knee complaints, and I believe he
18 had indicated some neck pain also at
19 the time.
20 Q. Okay. Doctor, I believe in
21 this case that Dr. Lika will give
22 testimony that where an individual
23 suffers multiple injuries in a motor
24 vehicle accident or in some other type
25 of accident that individual may

Page 19

1 vehicle accident.
2 Q. Doctor, in reviewing the MRI
3 scan report of the left knee, there is
4 a mention of some damage to the lateral
5 and medial menisci of the knee; is
6 there not?
7 A. Yes.
8 Q. And you have also had an
9 opportunity to review the actual
10 surgical record of Dr. Lika of December
11 1999 when he was actually looking into
12 that left knee?
13 A. Yes.
14 Q. Did you find in that
15 surgical operative record any indication
16 or mention of any meniscal damage?
17 A. No, there was none.
18 Q. What does that tell you, if
19 anything, Doctor?
20 A. The notation in the report
21 is that the medial compartment and the
22 lateral compartment were normal,
23 indicating that the joint surfaces and
24 menisci would be normal. That means
25 there was no tears.

Page 21

1 initially overlook a torn anterior
2 cruciate ligament because of the
3 severity of other injuries. Is that
4 something that can occur?
5 A. It can occur.
6 Q. And is -- what other type of
7 injuries would you expect to have been
8 suffered so that a patient would
9 overlook that he or she has suffered a
10 complete tear of their anterior cruciate
11 ligament traumatically?
12 A. When we think of that kind
13 of scenario we think of an individual
14 who we call a multiply traumatized
15 patients, meaning they have significant
16 long bone fractures, they have
17 significant multiple trauma, not just
18 bumps and bruises and those kind of
19 things. The individual in this
20 situation, the records indicate he
21 walked out of the emergency room, so it
22 doesn't go along with having had an
23 anterior cruciate ligament tear at that
24 time.
25 Q. Doctor, based upon your

800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

1 review of the Bedford Hospital emergency
2 room record and the EMS report, do you
3 have an opinion based upon reasonable
4 medical certainty as to whether or not
5 Mr. Devic, the plaintiff in this case,
6 suffered any injury that's recorded in
7 those records which in your opinion was
8 significant enough so that he would not
9 have noticed a complete traumatic tear
10 of his left anterior cruciate ligament?

11 A. Based on my review of the
12 records, there was no injury that would
13 have prevented him from being aware that
14 he had acutely torn his anterior
15 cruciate ligament.

16 Q. Okay. Doctor, when one
17 tears their anterior cruciate ligament
18 traumatically, what kind of discomfort
19 are we talking about?

20 A. Well, the individual is
21 typically aware that they have injured
22 their knee. They often feel a popping
23 pain in the knee and go down. Then
24 they usually are aware that they're
25 having pain in their knee and they

1 see?

2 A. Well, I would expect to see
3 an individual who has an acutely injured
4 left knee. I think commonly people in
5 the lay field who refer these knees
6 often refer to them as blown out knees.
7 The individual has a painful knee. It's
8 swollen. They have difficulty bearing
9 weight on it. And it's pretty apparent
10 to everybody that they have an injured
11 knee, the patient and the doctors
12 evaluating them. The medical records
13 are very inconsistent with that.

14 MR. TIRA: Thank you,
15 Doctor. I have no further questions.

16 THE WITNESS: You're
17 welcome.

18 EXAMINATION OF
19 TIMOTHY L. GORDON, M.D.

20 BY-MR. LOUCAS:

21 Q. Good afternoon, Doctor.
22 We've just been introduced today. As
23 you now know, my name is George Loucas.
24 I'm here with my sister, Cathy Loucas,
25 and we represent the interests of Lazo

Page 23

1 develop rapid swelling in the knee.

2 Q. And rapid swelling being how
3 long after the injury?

4 A. There's usually a noticeable
5 effusion, meaning swelling in the knee
6 itself, within an hour or so.

7 Q. Based upon what we know
8 about this case, Doctor, the accident
9 occurred at approximately 10:30 a.m.,
10 and I believe the Bedford emergency room
11 record indicates a discharge at 12 --
12 roughly 12:45 p.m. With those time
13 parameters known, if Mr. Devic had
14 suffered a complete traumatic tear of
15 his left anterior cruciate ligament in
16 an accident at 10:30 a.m., do you have
17 an opinion based upon reasonable medical
18 certainty as to whether or not he would
19 have had any complaints relative to his
20 left knee or whether there would have
21 been any observable signs of injury to
22 that knee by the time of his discharge
23 at 12:45 p.m. the same day?

24 A. Yes.

25 Q. What would you expect to

Page 25

1 Devic as you know.

2 How much time did you
3 spend with Mr. Tira before we arrived?

4 A. I'd say on the order of a
5 half an hour.

6 Q. Was it a little over or a
7 little less than a half hour?

8 A. Around a half an hour. It's
9 an estimate.

10 Q. So will that mean that you
11 will bill him \$450 for the half hour,
12 or if he goes a minute over will you
13 bill him \$900 for the full hour of
14 consult before this deposition?

15 MR. TIRA: Objection.

16 A. I would bill him for half an
17 hour's time.

18 Q. Okay. But my question was
19 if it went over a half hour, 31
20 minutes, you would bill for a full
21 hour, correct, \$900? Isn't that the
22 way things work?

23 A. I don't usually do it that
24 way. If it goes over significantly and
25 is close to an hour, then yes, but I

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 26

1 don't think for a minute, no.
2 Q. But your charge generally is
3 \$900 an hour for deposition as well as
4 consult with the attorney, in this
5 instance, Mr. Tira; is that fair?
6 A. I charge \$900 an hour for
7 deposition time. That would include
8 conference prior to the deposition.
9 Q. Now, you said you're board
10 certified. And board certification, I
11 think you said you graduated, I did the
12 math, it looks as though you were board
13 certified in about 1991 or 1992?
14 A. No, 1993.
15 Q. 1993. So you've been
16 practicing about eight years, is that
17 fair, as a board certified orthopedic
18 surgeon?
19 A. I've been practicing as an
20 orthopedic surgeon for about ten years.
21 You can't become board certified any
22 faster than two years after you finished
23 your training. It just doesn't work
24 that way. So I became board certified
25 as soon as I was eligible, and I've

Page 28

1 can keep up-to-date in treating your
2 patients?
3 A. Sure. Most physicians
4 participate in continuing medical
5 education and attend conferences,
6 meetings, read journals, et cetera, to
7 maintain a level of recent awareness of
8 information, those kind of things.
9 Q. And what kind of journals
10 then would you subscribe to in an
11 effort to update yourself so that you
12 can more adequately care for your
13 patients?
14 A. Journals that I review are
15 Journal of Bone and Joint Surgery,
16 various throw-away journals that come in
17 the mail. I can't remember all their
18 names.
19 Q. Any others dealing with
20 orthopedics, Clinics of North America,
21 anything like that?
22 A. I have reviewed them in the
23 past. There are many out there.
24 There's lots of them that we use as
25 reference material.

Page 27

1 been board certified since '93, but
2 practicing in private practice since
3 '91.
4 Q. I understand, Doctor, but my
5 question was very specific, and if you
6 will listen to my question please, we'll
7 be done a lot more quickly. My
8 question was, you have been practicing
9 as a board certified orthopedic surgeon
10 since 1993? That would mean
11 approximately eight years, fair
12 statement? Do you agree or disagree?
13 A. I think that's rather
14 obvious if I was board certified in
15 1993 and it's 2001, that would be
16 around eight years, yes.
17 Q. Okay. Then that probably
18 would have been the obvious way to
19 answer the question. Let's move on.
20 MR. TIRA: Objection.
21 Q. Since that time, Doctor,
22 have you undertaken any measures, for
23 instance, like seminars to update your
24 medical knowledge or clinical skills in
25 the practice of medicine so that you

Page 29

1 Q. And those are typically more
2 up-to-date than textbooks, aren't they,
3 meaning more recently published?
4 A. They can be.
5 Q. And journals are kind of
6 like subscriptions to magazines, they're
7 published on typically a monthly basis?
8 A. Sometimes, sometimes not that
9 frequently.
10 Q. But so the folks on the jury
11 understand what we're talking about,
12 these journals, there's a stringent
13 routine that's usually followed for the
14 physician in publishing an article,
15 meaning it's subjected to their peers,
16 other physicians, for review before
17 their articles are even published; is
18 that fair?
19 A. I'm sorry. I didn't hear
20 your question. There's some other noise
21 in the room.
22 Q. Peer review. There's a
23 stringent test as to whether an article
24 gets published in a journal by doctors,
25 meaning typically it's submitted to an

☎ 800.694.4787

CEFARATTI
GROUP
A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 30

1 editorial board and they take a look at
2 it and determine whether it's accurate
3 enough for publication and dissemination
4 for other doctors to learn from?

5 A. That depends on the journal,
6 who is reviewing them. There's a lot
7 of bias that are out there in articles
8 and research. And we look at them and
9 evaluate them at face value.

10 Q. That wasn't my question
11 though. Isn't that typically the routine
12 for most journal articles is that
13 they're peer reviewed articles?

14 A. Well, I think you're getting
15 into what is the definition of peer
16 review. I mean,
17 that, as I said and I answered it, is
18 that depends on who is doing the
19 reviewing, what article it is, and what
20 all the issues that are made apparent
21 for each specific situation.

22 Q. May we agree on the
23 fundamental principle that there's
24 typically a stringent test that must be
25 followed before an article is published

Page 32

1 supportable and it won't be published,
2 fair?

3 A. I'm not sure that's fair. I
4 mean, they may have just published an
5 article last month on the same topic,
6 so then they wouldn't accept it for the
7 next month or month after because they
8 just published one. There's a lot of
9 reasons that go into accepting an
10 article.

11 Q. Do you specifically update
12 your medical knowledge and clinical
13 skills on things like seminars and
14 reading journal articles and the such?

15 A. I've already told you, yes.

16 Q. And I take it generally then
17 and yourself physicians such as yourself
18 glow to these journal articles from time
19 to time -- strike that.

20 You would agree with me
21 then that the information published in
22 the journal articles is useful or
23 helpful in updating those clinical
24 skills?

25 A. It depends on the article

Page 31

1 in one of those journals?

2 A. I'm not sure I'd call it a
3 stringent test. They're reviewed. Some
4 of them are
5 accepted, some aren't. Some are delayed
6 until later. It just depends on what's
7 going on at the time of these reviews.

8 Q. That's a good word.
9 Accepted. Meaning when somebody writes
10 an article it could be accepted or
11 unaccepted if they do not approve of
12 the subject matter, true?

13 A. May be a lot of reasons you
14 don't accept an article. It can be the
15 way it's written, that there's something
16 left out as far as content, or actual
17 just writing, grammar kind of issues.
18 It's not well written so they would
19 recommend some changes in production,
20 that kind of thing.

21 Q. Or something more complex as
22 though the subject matter is not
23 believed, for instance, by the reviewing
24 panel or it's not documented in the
25 medical literature, therefore not

Page 33

1 and what the information is. Each
2 article you have to evaluate
3 specifically.

4 Q. Generally speaking though,
5 since it is a primary source of
6 reference for physicians and updating
7 their medical knowledge and clinical
8 skills, it is a useful or helpful place
9 to go to do that as you've just
10 testified, correct, Doctor?

11 MR. TIRA: Objection.

12 A. What I have testified is
13 that we review articles and journals and
14 textbooks and various reference
15 material, and then we evaluate each
16 piece of information as being useful to
17 us or not useful to us. So each
18 physician determines that themselves.

19 Q. No, I'm sorry. Maybe we're
20 miscommunicating. I'm talking about you
21 saying that doctors in general and
22 doctors like you use materials like
23 seminars and journal articles for
24 information that they go to from time
25 to time to update their medical

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 34

1 knowledge and clinical skills. Was that
2 a fair and accurate representation of
3 what you have already testified to
4 today?

5 A. Yeah, I'm trying to answer
6 your question. What I am telling you
7 is yes, we look at reference
8 information, but we evaluate each
9 article or textbook section or whatever
10 you were referring to that you're
11 looking at, that we review that
12 information to see if it's useful to us
13 or if we think it's been helpful or,
14 you know, reflects our practice, all
15 those kind of factors. So we look at
16 each one separately.

17 Q. And I'm asking you in a
18 general sense, Doctor, in the bodies of
19 medical literature that are out there,
20 isn't journals, the form of journals,
21 updated journals in the medical
22 literature, a useful and helpful
23 resource that doctors go to from time
24 to time to update their medical
25 knowledge and clinical skills?

Page 36

1 correct?

2 A. Yes.

3 Q. So the folks on this jury
4 understand, independent means there's no
5 bias or motivation or slanted finding on
6 your part as a result of your
7 examination in this case, fair enough?

8 A. I would agree with that.

9 Q. May we agree that the court
10 did not appoint you to be an examiner
11 in this case independently?

12 A. Yes.

13 Q. You did not volunteer your
14 services on behalf of Lazo to help him
15 with a second opinion as a result of
16 being a good samaritan; is that fair?

17 A. No, I was asked to evaluate
18 the case and opinion what made the most
19 sense.

20 Q. And his doctors, Boza,
21 Vangelos, Lika, they didn't ask for your
22 intervention and assistance; is that
23 true?

24 A. That's correct.

25 Q. And you're not here because

Page 35

1 MR. TIRA: Objection.

2 A. What I am trying to tell you
3 is that yes, doctors review journals and
4 they're recent journals and that we look
5 at the information in those journals to
6 evaluate them for ourselves
7 individually. So based on the
8 individual's evaluation, that's how
9 we're looking at them.

10 Q. So we can cut through this,
11 Doctor, move quickly, it's helpful,
12 correct? It's useful and helpful
13 material for a doctor to learn from
14 after they come out of medical school
15 and graduate training, postgraduate
16 training; is that fair?

17 A. I think the fairest way to
18 answer that is some articles are more
19 useful than others. That's what I'm
20 trying to tell you. We evaluate each
21 one separately.

22 Q. Doctor, your presence in
23 this case is due to the fact that you
24 were conducting what's known as an
25 independent medical examination,

Page 37

1 I asked you or that Lazo asked you to
2 examine him independently for a second
3 opinion; is that true?

4 A. That's correct.

5 Q. The truth of the matter is
6 that although it is being called an
7 independent medical examination, you
8 typically are hired in these instances
9 by defense lawyers or lawyers who
10 routinely and predominantly represent
11 defendants in litigation, correct?

12 A. The majority of the
13 independent medical exams I perform at
14 the request of the defense.

15 Q. And you also conduct these
16 alleged independent medical examinations
17 on behalf of insurance companies,
18 correct?

19 A. Yes.

20 MR. TIRA: Objection.

21 Q. And you also conduct them on
22 behalf of the State's Bureau of Workers'
23 Compensation, correct?

24 A. Yes.

25 Q. And just so that the jury

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 246.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 38

1 has a flavor or understanding of how
2 truly independent these medical
3 examinations that you conduct are, let's
4 take a closer look at how you're paid
5 to do one of these.

6 First you're paid
7 separately for the physical examination
8 and review of medical records, if any,
9 true?

10 A. I don't understand the
11 question.

12 Q. The way you routinely do
13 this, Doctor, is that somebody contacts
14 you and then you will review medical
15 records and/or examine the patient.
16 That's the first part of your conducting
17 an independent medical exam, true?

18 A. It depends on the
19 individual. In this case I didn't
20 examine the individual because that
21 wouldn't have made any difference. I
22 reviewed records and prepared the
23 report, so I would bill for the time
24 and expertise in relationship to the
25 record review and the report,

Page 40

1 And if that would mean that that
2 includes -- I've been asked to examine
3 the individual, actually hands on
4 examine them and evaluate records and a
5 report, I would charge one fee for
6 that. And I've already said that.

7 Q. And then you charge
8 separately for your deposition testimony
9 like today, true?

10 A. That's correct.

11 Q. And the rate or the range
12 that you charge can be anywhere between
13 500 to \$2,000 generally at least since
14 1998?

15 A. For what?

16 Q. For an IME.

17 A. That's correct, in that
18 general range.

19 Q. And of course that can be
20 higher?

21 A. It's possible.

22 Q. It's possible. And as a
23 matter of fact, I think I have a case
24 here, it was very recent. Can your
25 cases go up between 3,000 and \$5,000,

Page 39

1 evaluation.

2 Q. So do you charge separately,
3 Doctor, for conducting the IME, meaning
4 the physical examination versus writing
5 a report?

6 A. Well, when I examine an
7 individual and actually physically
8 examine them, take a history and examine
9 them, I charge for the evaluation which
10 I would include the exam in and
11 reviewing the records and generating the
12 report in that kind of situation.

13 Q. So my question was, do you
14 charge separately, Doctor? Excuse me,
15 let me finish my question.

16 A. Okay.

17 Q. Do you charge separately for
18 the independent medical exam and then
19 separately for writing the report to
20 whomever hired you to examine that
21 patient?

22 A. You've asked this question
23 different ways. I'm trying to explain
24 it. Is that I've already told you that
25 I charge for my time and expertise.

Page 41

1 Doctor?

2 A. It possibly could, depending
3 on all of the charges together or the
4 complexity of the case.

5 Q. Do you recall any cases
6 recently where you had billed 3 to
7 \$5,000 for doing an IME?

8 A. I don't recall specifically.

9 Q. How about Frank Cercek
10 versus Joe Asadorian? As a matter of
11 fact, I think your deposition was taken
12 February 5, 2001. Does that case ring
13 a bell to you?

14 A. It doesn't ring a bell to
15 me, no.

16 Q. Would it surprise you that
17 in that case you did bill 3 to \$5,000,
18 in that range?

19 A. Again, I don't know if that
20 -- for what charges that was, all the
21 charges, everything together, different
22 work at different times. I don't know
23 the factors.

24 Q. Now, that range was
25 represented to be between October 1998

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 42

1 through December of 2000. Does that
2 range still applied from December 2000
3 to the present or has that range
4 changed?

5 A. As a general range, it's in
6 that range. I've already told you.

7 Could be a little bit more than that,
8 but that's the general range.

9 Q. Last week we were slated to
10 go for a discovery deposition. There's
11 a difference, isn't there, between a
12 discovery deposition and the video
13 deposition like we're doing here for the
14 benefit of the people on the jury?

15 A. Not in what I charge for it,
16 no.

17 Q. That wasn't my question.
18 What the difference --

19 A. I'm not sure what your
20 question was. Sorry.

21 Q. My question is, is there a
22 difference between a discovery and a
23 video deposition for trial, Doctor?

24 MR. TIRA: Objection.

25 A. It's a deposition where

Page 44

1 100 depositions in your career?

2 A. Could be.

3 Q. Fair to say you've probably
4 done over 100 video depositions, which
5 is more than I've ever done. Is that a
6 fair assessment?

7 A. I don't know if it's that
8 many.

9 Q. My whole point being,
10 Doctor, there's a difference between the
11 two. And you charge \$900 for the
12 discovery deposition before it even gets
13 started; isn't that true?

14 A. What I charge is \$900 an
15 hour, and that in a discovery deposition
16 I request that that first hour be paid.

17 Q. In advance, meaning you
18 don't start the deposition unless you've
19 been given that cash in hand first,
20 true?

21 A. Right, that's because in the
22 past people have not paid the bill.

23 Q. Let's take a look, Doctor,
24 first at how many IMEs or what I may
25 call from time to time defense medical

Page 43

1 people ask me questions and I answer
2 the questions, so it's a deposition in
3 that sense.

4 Q. Doctor, are you telling me
5 you don't know the difference between a
6 discovery and a video deposition for
7 trial?

8 A. Well, I only know my
9 impression as an orthopedic surgeon.
10 I'm not a lawyer. I'm not expert in
11 what depositions are.

12 My understanding is that a
13 discovery deposition gets performed as
14 if it's cross-examination, I think, if I
15 understand that right. And that in a
16 videotape edition there's like direct
17 and cross examination. But again, I'm
18 not a lawyer, that's just kind of what
19 I have perceived.

20 Q. You're not a lawyer, but
21 you've had some experience in deposition
22 testimony, haven't you? Is that a fair
23 statement?

24 A. Sure.

25 Q. Fair to say you've done over

Page 45

1 exams since you've testified that you do
2 them predominantly for the defense
3 lawyers that you've done recently. And
4 we can calculate and do our math
5 together. October 1998 through December
6 1999 -- and do you have the summary
7 that your corporate lawyer has provided
8 with this information, or do you want
9 me to give you a copy?

10 A. A copy would be great, thank
11 you.

12 MR. LOUCAS: Can we go
13 off for a second?

14 VIDEO TECHNICIAN: Going
15 off the record at 12:39 p.m.

16 (Discussion off record.)

17 VIDEO TECHNICIAN: Okay.
18 Back on the record at 12:40 p.m.

19 BY MR. LOUCAS:

20 Q. Doctor, I had issued you a
21 subpoena to produce documents in this
22 case at the time of this deposition; is
23 that true?

24 A. Yes.

25 Q. And there were many things

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY E. GORDON, M.D

Page 46

1 that I had asked for in that deposition
2 including your appointment books and a
3 breakdown in the percentage income that
4 you make from doing defense or
5 independent medical examinations versus
6 your own independent orthopedic practice
7 and on and on and on; is that fair
8 enough?

9 A. I don't recall exactly what
10 was all in there.

11 Q. May we agree, however, that
12 your corporate attorney intervened and
13 provided this summary for us as to the
14 amount of IMEs you've performed at least
15 since October of 1998 to the present;
16 fair statement?

17 A. Yes, our corporate attorney
18 responded to that.

19 MR. LOUCAS: Can we
20 stipulate to mark that as an exhibit,
21 Joe, after the deposition or do you
22 want me to have the court reporter now.

23 MR. TIRA: There's no need
24 if you want to mark it as an exhibit to
25 mark it now.

Page 47

1 MR. LOUCAS: Thank you.
2 BY MR. LOUCAS:

3 Q. Doctor, from October 1998
4 through December 1999, you performed?

5 MR. TIRA: IMEs, correct?
6 A. Yes.

7 Q. If we do the math at the low
8 end of 500, that's minimally \$12,000
9 income. If we do the high end of the
10 math at \$2,000, that's \$248,000; true?

11 A. That's what was billed.
12 That would be a range of billing
13 according to those numbers.

14 Q. During that time period,
15 which is a little over one year, 14
16 months, you also did 34 depositions,
17 true?

18 A. Yes.

19 Q. So that would be at least
20 two depositions a month, that's obvious
21 from the math, right?

22 A. Around two depositions a
23 month.

24 MR. TIRA: Objection.

25 Q. And we are approaching the

Page 48

1 one hour mark, and as we exceed one
2 hour you will charge for the next hour
3 another \$900 an hour; is that a fair
4 statement?

5 A. I'll basically charge for
6 the time you guys take of my time
7 asking questions, so that's up to you
8 how long it takes.

9 Q. If it's one hour, it's \$900.
10 Two hours, \$1,800, and so on?

11 A. Sure, but it's based on how
12 long you want to ask me questions.

13 Q. So that of the 34
14 depositions you did during that time
15 period, for one hour it would \$30,600
16 in income; two hours, \$61,200 in income,
17 three hours, \$91,800 in income if you
18 want to assume my math is correct.

19 A. I don't have a calculator.
20 I have to assume you're running those
21 numbers accurately. I don't know.

22 Q. I'm sure if my math is
23 incorrect Mr. Tira will hold me
24 accountable in closing arguments, but
25 let us move on please.

Page 49

1 Let's go to January of
2 2000 to December of 2000. That would
3 be last calendar year. You conducted
4 89 of these independent medical
5 examinations, true?

6 A. Correct.

7 Q. And on the low end that
8 would be \$44,500 at 500, or the high
9 end at \$2,000, \$178,500?

10 A. That would be a range.

11 Q. And likewise you did 29
12 depositions, which again would be at
13 least two a month, correct?

14 A. It's around two a month as
15 an average.

16 Q. And even if they're just one
17 hour, that would be \$26,100 and then
18 double or triple if they went to two or
19 three hours, fair enough?

20 A. Those are guess estimates.
21 We don't know how long they took. I
22 don't keep track of them.

23 Q. That's right, you don't keep
24 track of that information, do you?

25 A. No.

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 50

1 Q. Nor do you keep track of the
2 information about the breakdown of for
3 whom you were doing these IMEs; is that
4 accurate?

5 A. I personally do not tally
6 those numbers, no.

7 Q. You did not keep track of
8 your 1099 or income of your practice
9 from these outside sources other than
10 your practice from this work that you
11 do as an independent medical examiner;
12 is that true?

13 A. I'm not really familiar with
14 what gets done with the 1099s. I don't
15 do that part of the practice.

16 Q. Let's go to January 2001
17 through March 2001, Doctor. So far in
18 this year, in the first quarter, I
19 should say the first three months,
20 you've done 24 IMEs for a low end of
21 our range that we're doing of \$12,000
22 to a high end of \$2,000 range of
23 \$48,000 just in the first three months
24 of this year; is that true?

25 A. That would be a range.

Page 52

1 4,000, that would have been \$948,000 in
2 the last two and a half years; is that
3 a fair statement?

4 MR. TIRA: Objection.

5 A. I don't think it's a fair
6 statement at all. I don't know what
7 those charges are based on, whether
8 that's other accumulated bills. I don't
9 know what those are, so I can't say
10 that with any intelligence. You're
11 asking a question I don't have any
12 answers to.

13 Q. Well, if you have only given
14 -- how many depositions have you given
15 since March of this year?

16 A. I'd have to check. I don't
17 know off the top of my head.

18 Q. What records would you
19 check, Doctor, to find out how many
20 IMEs -- depositions you've done for
21 IMEs?

22 A. Records that I keep myself.

23 Q. What are those records
24 please?

25 A. Just a tally of the ones

Page 51

1 Q. You've done three depositions
2 minimally at one hour. That would be
3 about \$2,700 so far in the first three
4 months, true?

5 A. Again, that's kind of a
6 guess estimate range. I don't know
7 what the exact numbers would be.

8 Q. So that if we looked at, and
9 I'm going to ask you to assume that my
10 addition is correct, on the low end
11 since October of 1998 through March 1
12 of this year, which would be two and a
13 half years, 28 months, you've got
14 \$310,000 income versus the high end of
15 \$651,700 of income, correct?

16 A. I can't say that's accurate
17 as an income. I don't know what the
18 income would be. That's a range based
19 on these numbers for billing and that's
20 all I can tell you. It's a range.

21 Q. I want you to assume --
22 strike that.

23 On the other hand, if as
24 in the Cercek case you charge between 3
25 and \$5,000 in an IME and the average is

Page 53

1 I've done.

2 Q. Where do you keep these
3 records, in what format?

4 A. I just jot them down as I do
5 them.

6 Q. And as you jot them down, do
7 you keep them in a central place,
8 Doctor, or just jot them down and throw
9 them in the garbage?

10 A. No, I keep track of them.

11 Q. And where do you keep track
12 of them. That's my question.

13 A. Just various places, but I
14 just keep track of them and tabulate
15 them over time.

16 Q. In these various places, is
17 there one file, is it on computer disk,
18 is it a file that's kept privately in
19 your desk?

20 A. No, it's something that my
21 corporate attorney recommended that I
22 keep track of, and so I've done that at
23 his request and I keep it.

24 Q. And that's not the way it
25 had been done before 1998, correct?

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 54

1 A. No.
2 Q. I'm sorry, correct meaning ■
3 am correct that it wasn't?
4 A. You asked the question. ■
5 said no. ■wasn't keeping track of them
6 before 1998.
7 Q. Why weren't you keeping
8 track of them before 1998, Doctor?
9 MR. TIRA: Objection. Go
10 ahead.
11 A. Well, ■had no real need to.
12 Q. No other reason?
13 A. All I'm telling you is the
14 reason I keep track of them now is
15 because I was recommended to do that by
16 my corporate attorney so I'm doing that.
17 MR. TIRA: Objection. I
18 believe we're getting into perhaps
19 attorney-client privilege here, and in
20 that Dr. Gordon's corporate and/or
21 personal attorney isn't here, ■believe
22 it's not appropriate to ask him
23 questions that would elicit responses
24 relative to what his attorney told him.
25 Q. Doctor, it isn't simple pure

Page 55

1 circumstance that the number of IMEs and
2 depositions that we just went over for
3 the jury are from October 1998 through
4 the present and that there are no
5 records from before that, is it?
6 A. ■can only tell you that I
7 am keeping them now because, you know,
8 look, I've already answered this
9 question and it is the issue of ■get a
10 little uncomfortable when people start
11 asking me about things my attorney has
12 asked me to do because ■don't really
13 understand all that attorney stuff. But
14 that's what he's asked me to do so I'm
15 doing it.
16 Q. ■notice here on this report
17 you generated in this case that there
18 are two names at the top, Robert C.
19 Com, M.D. and yours, Timothy L. Gordon.
20 Are you the only two physicians
21 practicing in this group?
22 A. Yes.
23 Q. And it says here Highland
24 Musculoskeletal Associates, Inc., that
25 is the corporate entity that employs you

Page 56

1 and Dr. Com, true?
2 A. Yes.
3 Q. And you are both
4 shareholders in that corporation, true?
5 A. Yes.
6 Q. You're both officers in that
7 corporation, true?
8 A. Yes.
9 Q. Dr. Corn has testified as an
10 employee shareholder and officer of
11 Highland Musculoskeletal Associates,
12 Inc., under oath at the direction of
13 Judge Russo on September 28, 1998, that
14 Highland Musculoskeletal Associates,
15 Inc. does not have any appointment books
16 prior to 1998 because we destroyed them
17 at the end of the calendar year, and
18 that one of the reasons those calendars
19 and appointment books are discarded is
20 to prevent attorneys that represent
21 plaintiffs from establishing an
22 interest, a financial interest, in the
23 type of work done through defense
24 medical exams.
25 Do you have any reason to

Page 57

1 believe that the only other employee and
2 shareholder besides you in your
3 corporation is not telling the truth?
4 MR. TIRA: Objection.
5 A. You know, I'm not going to
6 testify regarding anything I haven't
7 said. For those kind of questions you
8 need to talk to our corporate counsel.
9 Q. Doctor, isn't it true that
10 this corporation deliberately destroyed
11 records that kept track of how many
12 IMEs and how many -- how much money was
13 produced from them so that they would
14 not be subject to subpoena?
15 MR. TIRA: Objection.
16 A. Again, I'm going to have to
17 refer you to our corporate counsel
18 regarding those kind of questions.
19 Q. So if I point out to you the
20 sworn testimony in the transcript of Dr.
21 Com, would that help you refresh your
22 recollection, Doctor?
23 MR. TIRA: Objection.
24 A. I've already told you in
25 regards to that I'm going to have to

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY E. GORDON, M.D

Page 58

1 refer to our corporate counsel.
2 Q. Doctor, I'm going to refer
3 you to the transcript of contempt
4 hearing proceedings before Her Honor,
5 Judge Russo, September 28, 1998, and the
6 testimony of Dr. Corn and your
7 corporation on page 21, line 8 isn't
8 it true, Doctor, that one of the
9 reasons if not the sole reason that you
10 destroy those appointment calendars on a
11 systematic basis is to prevent
12 plaintiffs and plaintiffs' lawyers like
13 me from establishing your financial
14 interest and your bias when you conduct
15 an IME?
16 Line 15, The Witness:
17 That was part of the reason, yes.
18 Line 17, The Court: So
19 you're admitting that you destroyed
20 books deliberately so they cannot be
21 subpoenaed?
22 Page 22, line 11, I'm
23 sorry -- line 8 yes.
24 Do you have any knowledge
25 of that, Doctor, whatsoever?

Page 59

1 MR. TIRA: Objection.
2 A. Again, you're asking me
3 questions regarding things that my
4 corporate counsel has advised me. You
5 need to talk to them about this. And
6 that's the way that this has to go. You
7 need to respond to them.
8 Q. So you're not familiar then
9 with the practice of the recordkeeping
10 we just talked about prior to 1998; is
11 that your testimony today, sir?
12 MR. TIRA: Objection.
13 A. I've already told you, in
14 regards to the kind of questions you're
15 asking and information you're going
16 after and basing them on, I have to
17 refer you to my counsel.
18 Q. I'm sorry, Doctor, the
19 question was --
20 MR. LOUCAS: Madam Court
21 Reporter, can you read back the question
22 please.
23 (Record read.)
24 MR. TIRA: Objection.
25 A. Again, I'm going to have to

Page 60

1 refer you to our corporate counsel in
2 response to those kind of questions.
3 Q. Now, would you please hold
4 up the document that I have given you,
5 Doctor, and show us what we're talking
6 about. That table is the sole amount
7 of information that was provided with
8 regard to the IMEs and the number of
9 depositions done and et cetera, correct?
10 MR. TIRA: Objection to
11 the showing and questions. Go ahead.
12 A. This is what was provided to
13 you at the mutual agreement my
14 understanding is via our counsel.
15 Q. Incidentally, Doctor, did you
16 charge a cancellation fee for the
17 discovery deposition that was canceled
18 last week?
19 A. Sure I did because you
20 canceled it within 24 hours. I had to
21 block off a significant period of time
22 to provide a service to you, and you
23 canceled at the last minute, so of
24 course I charge a late cancellation fee
25 because that wasted that big chunk of

Page 61

1 time for me.
2 Q. And how much did you charge,
3 Doctor?
4 A. Would have been \$900 for the
5 first hour.
6 Q. So was that your
7 cancellation fee?
8 A. In this case it was because
9 you canceled so close to the deposition.
10 Q. And who, Doctor, paid that
11 fee? Who did you bill for that fee?
12 A. Whoever asked for the time
13 and asked me to block that time off of
14 my schedule.
15 Q. Okay. So that bill has been
16 issued then for that time?
17 A. I don't know if it's been
18 issued or not, but I gave an
19 explanation as to why I did that
20 because my time was taken and canceled
21 at the last minute and I was left with
22 a large chunk of time.
23 Q. Doctor, would you take a
24 look at the billing that you so kindly
25 provided me before this deposition.

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 62

1 Would you tell me how much that you
2 have billed in this case so far?

3 A. This for the report was
4 \$700.

5 Q. And let's talk generally for
6 a moment about how you approach
7 conducting an exam. We started to get
8 into it before, but usually you're
9 contacted by defense attorneys or a
10 defense firm to conduct the examination
11 of a plaintiff, correct?

12 A. I've been contacted by both
13 plaintiff and defense.

14 Q. I understand, but that
15 wasn't my question. Usually you are
16 contacted by the defense lawyer in
17 conducting an independent medical
18 examination or defense medical; is that
19 true?

20 A. Well, I get contacted by
21 lawyers to evaluate individuals, and the
22 majority of them are defense.

23 Q. That was not the case here,
24 correct? You were not contacted by Mr.
25 Tira or any member of his firm, true?

Page 64

1 tests are usually what's utilized by the
2 doctor's tools to make a diagnosis, fair
3 enough?

4 A. That's part of it, sure.

5 Q. And the history is a story a
6 patient tells the doctor in response to
7 the doctor's questions as to what the
8 symptoms are for to which the patient
9 arrives, true?

10 A. Right, it's usually the
11 story the patient cares to tell the
12 doctor.

13 Q. That's very important, isn't
14 it?

15 A. It's one of the things we
16 consider.

17 Q. Physical examination speaks
18 for itself. That's important as well,
19 an examination from head to toe or knee
20 like in this case, true?

21 A. Physical exam findings are
22 something else we look at.

23 Q. It's very important, wouldn't
24 you say, physical examination, in trying
25 to figure out what's wrong with a

Page 63

1 A. I've had contact with him at
2 some point in the past in regards to
3 this case. I was contacted by people
4 representing Marciano who was involved
5 in this case, the defendant.

6 Q. In the medical field,
7 Doctor, if a doctor wants to arrive at
8 a diagnosis or condition and what the
9 cause of it is, he will typically go
10 through what they call a differential
11 diagnosis; is that true?

12 A. Well, we consider different
13 options as to what a diagnosis could be
14 based on the findings, the history, the
15 physical exam, so forth.

16 Q. And that's the way a doctor
17 arrives at a diagnosis is by obtaining
18 first the history, then doing a physical
19 examination, and then typically
20 laboratory tests if necessary, correct?

21 A. You can do laboratory tests
22 or some additional studies if needed,
23 yes.

24 Q. But that's it, history,
25 physical examination and laboratory

Page 65

1 person and why?

2 A. We certainly evaluate
3 physical exam findings as part of coming
4 to a diagnosis or opinions.

5 Q. Doctor, out of the history,
6 physical exam and laboratory tests,
7 isn't the physical examination the
8 benchmark or hallmark, the basis of the
9 main thing about arriving at a diagnosis
10 of any disease state or process?

11 A. I wouldn't agree with that.
12 That's one of the things we consider
13 and we look at.

14 Q. Okay. In a case like this
15 it would minimally include an
16 examination of the knee for Lazo,
17 wouldn't it?

18 A. I don't understand your
19 question.

20 Q. Do you think a physical
21 examination -- strike that.

22 In a case like this the
23 laboratory tests that would have been
24 necessary and that were actually done
25 for Lazo in arriving at a diagnosis

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 66

1 would have been the x-rays and the MRI
2 or magnetic resonance imaging
3 evaluation, true?
4 A. They're part of the
5 information that you would look at.
6 Q. And typically when you do a
7 defense medical or independent medical
8 exam, Doctor, you examine the patient in
9 addition to the records, true?
10 A. Really depends on the
11 situation. In this situation it
12 wouldn't have made any difference
13 because at the time I was asked to take
14 a look at this information, he had
15 already had surgery, so that really
16 wouldn't have helped.
17 Q. Let's take a look at Cercek
18 versus Asadorian, the one we talked
19 about. Doctor, you examined the patient
20 by doing a history, physical exam,
21 reviewing actual MRIs and x-rays; isn't
22 that true, sir?
23 A. I don't recall.
24 MR. TIRA: Objection.
25 Q. Would you please take a look

Page 68

1 if what I'm saying is accurate or not
2 since you do not remember.
3 MR. TIRA: Objection.
4 A. Yes, I examined the
5 individual in this case.
6 Q. And you reviewed the
7 original films, true?
8 MR. TIRA: Objection.
9 A. Yes.
10 Q. And you took a history,
11 correct, Doctor?
12 A. Yes.
13 MR. TIRA: Objection.
14 Q. That's what you customarily
15 do when you do a defense medical
16 examination, isn't it?
17 A. Well, when the individual is
18 actually examined as a part of it, yes.
19 Q. Isn't the vast majority of
20 the cases, are they not where you do
21 exactly what I have just demonstrated
22 through sworn testimony, conducting a
23 history, physical examination, and
24 reviewing original films where
25 necessary, isn't that the vast majority

Page 67

1 at your sworn deposition page 10, line
2 18, and tell me if you disagree.
3 MR. TIRA: Objection.
4 A. What was the page again?
5 Q. Page 10, line 8 sir. Were
6 you able to find it, Doctor?
7 A. Um-hmm.
8 Q. So you would agree with me
9 in that case you examined the patient
10 and even took a history and even looked
11 at original films, meaning x-rays and
12 MRIs, true?
13 MR. TIRA: Objection.
14 A. In that particular case,
15 yes.
16 Q. Now I'm going to hand you
17 David Pericki versus Ella Fields, April
18 1, 1999. In that case you examined the
19 plaintiff, took a patient's history,
20 performed the orthopedic exam, and
21 reviewed MRI films. And here's a
22 summary to help you, Doctor. You see
23 the first three highlighted, four
24 highlighted things will direct you
25 exactly to the page and you can tell me

Page 69

1 to a reasonable degree of medical
2 certainty what you do, sir?
3 MR. TIRA: Objection.
4 A. That's a confusing question.
5 Q. Let me withdraw to a
6 reasonable degree of medical certainty.
7 Isn't that the custom or routine or
8 practice that you typically follow, fair
9 enough?
10 MR. TIRA: Objection.
11 A. It depends on the individual
12 case. They're all different. And in
13 this case, this individual, wouldn't
14 have made any difference because he'd
15 already had surgery, there are history
16 and records and exams in the records,
17 so for this particular case it really
18 wouldn't have made any difference.
19 Q. I understand, Doctor. But I
20 want to establish here whether or not
21 what you did here with Lazo is
22 different from how you handle most, if
23 not all of your other exams. Meaning
24 first,
25 may we agree that you did not ever

800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44124-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 70

1 examine Lazo Devic, true?
2 A. Personally, no.
3 Q. Doctor, may we agree that
4 you never obtained a history from Lazo
5 Devic, true?
6 A. Not personally, no.
7 Q. Doctor, may we agree that
8 you never reviewed his x-rays or his
9 MRI films in this case; is that true?
10 A. I reviewed radiographic
11 reports and other doctors' impressions
12 of those, but I did not view the actual
13 films myself, no.
14 Q. Doctor, you've never laid
15 eyes on La20 Devic, have you?
16 A. That's correct.
17 Q. Doctor, you've never even,
18 as you've just testified how important
19 the history is, taken a look at his own
20 sworn testimony in this case, have you?
21 MR. TIRA: Objection.
22 A. I don't think I've reviewed
23 his actual testimony, no.
24 Q. So you have no idea what his
25 explanation is as an independent

Page 71

1 investigator on your own to determine
2 exactly what happened in that accident,
3 true?
4 A. I relied on the medical
5 records.
6 Q. And you would agree with me,
7 sir, that in all of the records that
8 had been provided to you there was no
9 documentation of a history of an injury
10 to that left knee for Lazo Devic,
11 correct?
12 A. The records indicate he
13 didn't tell anybody that he had injured
14 his knee at the time of the accident.
15 Q. That's not the question,
16 Doctor. The question, sir, is in all
17 those medical records before you that
18 you have reviewed in this case there is
19 not one iota of written documentation of
20 an injury to that left knee prior to
21 this automobile collision of September
22 16th, 1998; is that an accurate
23 statement, sir?
24 A. The records I have don't
25 indicate that there was really an injury

Page 72

1 to the knee at all in the records. The
2 records don't support an actual injury.
3 I don't have any records I think from
4 before the accident.
5 Q. Did you bother to ask for
6 those records before the accident, sir?
7 A. I don't know if I asked for
8 records from before the accident or not.
9 I reviewed records that were made
10 available.
11 Q. Did you bother to ask to
12 have the opportunity to speak to this
13 young man directly to see from him what
14 happened?
15 A. Well, the history is in the
16 records and I evaluated the medical
17 records and the history he provided the
18 various doctors that had treated him.
19 And that's adequate.
20 Q. So you didn't bother to ask
21 whether you could examine Lazo so you
22 could take your own history, examine
23 him, true?
24 A. In this case didn't need to.
25 Q. Likewise, sir, you did not

Page 73

1 ask for the films for you to review as
2 you did in the other cases we just
3 talked about, true?
4 MR. TIRA: Objection.
5 A. I don't know if I asked for
6 them or not in the other cases. In
7 this case again, the records were
8 reviewed that were made available.
9 Q. Well, this isn't the first
10 time, Doctor, that you have arrived at
11 the opinion that an automobile collision
12 with a complaint of a knee hitting a
13 dashboard was not the cause of the
14 resulting derangement or injury inside
15 the knee; is that a fair statement?
16 A. Well, I think it's important
17 to note that each situation is
18 different. Everybody's involvement in
19 an accident is different from somebody
20 else's. And you have to look at the
21 specifics to that case and that's how I
22 evaluate these. The specifics to the
23 case that we're talking about and what
24 makes the most sense. And in this case
25 the records just don't convincingly

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

<p style="text-align: right;">Page 74</p> <p>1 support that he injured his left knee 2 in this car accident. 3 Q. That is because he didn't 4 have pain, popping, or swelling or 5 immediate complaints during transport by 6 EMS or in the emergency room, true? 7 A. Yes. And the reason for 8 that is that if he had indeed torn his 9 anterior cruciate ligament at the time 10 of the accident, that within a 11 reasonable degree of medical certainty 12 we would have expected him to have 13 acute pain, acute swelling, and it 14 apparent to all involved that he had 15 torn his anterior cruciate ligament. 16 Q. And getting back to my 17 question two questions ago, this is not 18 the first case in which you've testified 19 before a jury that an injury to a knee 20 was not related to the automobile 21 collision. Do you recall or not, sir? 22 MR. TIRA: Objection. 23 A. You know, I don't recall 24 specifically, but if information in a 25 specific case does not support that that</p>	<p style="text-align: right;">Page 76</p> <p>1 your impression, alleged twisting injury 2 to left knee, chronic anterior cruciate 3 deficiency with long-standing meniscal 4 and arthritic sequelae. Sir, I'll hand 5 you this report. Would you agree with 6 me that that is precisely the same type 7 of a case that we have here with Lazo, 8 that you have given -- 9 MR. TIRA: Objection. 10 Q. I'm sorry, that you have 11 given the opinion that since there was 12 no complaints of pain or swelling 13 immediately by the -- to the EMS or the 14 emergency room personnel, that therefore 15 that torn ligament in that case was not 16 from the automobile collision? 17 MR. TIRA: Objection. 18 A. You know, I feel like I'm 19 being blind-sided by something that I 20 wrote what, five years ago. I can't 21 remember what's in that report and 22 that's not really fair. 23 Look, based on the actual 24 facts in this case, the records do not 25 support that he injured his left knee</p>
<p style="text-align: right;">Page 75</p> <p>1 scenario caused the injury, then I would 2 opinion that way. 3 Q. I'm going to hand you, 4 Doctor, a report that you authored over 5 your signature in an effort to refresh 6 your recollection that's dated February 7 27th, 1996. And, first of all, I will 8 represent, so that we don't have to 9 hand this back and forth and you can 10 tell me if you object after I hand it 11 to you, but Nancy Gravinsky with the 12 Greater Cleveland Regional Transit 13 Authority is the one who hired you. 14 You did an examination of this patient. 15 And in your history you have, there was 16 mention of a twisting injury to his 17 knee which popped real loud. 18 Despite this, there was no complaint 19 of knee pain, swelling or problems to 20 the EMS crew that conveyed him to 21 Deaconess Hospital. There was no 22 mention of his left knee in the 23 Deaconess Hospital records as well. No 24 swelling was noted on physical 25 examination. And you go on to say in</p>	<p style="text-align: right;">Page 77</p> <p>1 at the time of the motor vehicle 2 accident and do not support that he 3 tore his anterior cruciate ligament at 4 the time of the motor vehicle accident. 5 Q. Doctor, that's not the 6 question before you. The question is, 7 refresh your recollection, please. Does 8 that document refresh your recollection 9 that that is the precise testimony that 10 you have given in another case that the 11 anterior cruciate ligament was not due 12 to the automobile collision because 13 there was no swelling and no pain 14 reported to the EMS personnel nor to 15 the emergency room personnel. Please, I 16 would ask you to do that at this time, 17 sir. 18 MR. TIRA: Objection. 19 A. Look, I don't think it's 20 fair that you ask me to testify to 21 something that -- a report I wrote five 22 years ago and I don't have -- I haven't 23 had a chance to review the records, 24 review the report, or the salient 25 features of that case. That's</p>

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY E. GORDON, M.D

Page 78

1 ridiculous.

2 Q. So you refuse, Doctor, you
3 refuse to take a look at that report
4 and see if, in fact, that has happened
5 before? Is that what you're telling
6 us?

7 MR. TIRA: Objection.

8 A. What I'm telling you is I
9 don't think it's fair because that's an
10 evaluation that was six years ago that
11 had specific records, specific issues
12 about it, and I don't recall those and
13 that's not fair.

14 MR. LOUCAS: As an
15 officer of the court, I'm instructing
16 the witness to answer this question.

17 MR. TIRA: Objection. He
18 has answered it.

19 Q. I'll tell you what, Doctor.
20 I'll offer you the opportunity to review
21 that record. Let's go off the record,
22 and you can review that report. That
23 way it's detailed and you can refresh
24 your recollection in its entirety. Fair
25 enough?

Page 79

1 MR. TIRA: Objection.

2 Q. Are you willing to do that,
3 Doctor?

4 A. Well, I think it's important
5 to understand that again that's not
6 really fair because when I wrote that
7 report, that's an overview of records
8 that were reviewed, any studies that may
9 have been reviewed, and I don't have
10 those. It's just, you know, it's not
11 really fair of you to ask me to testify
12 to that situation when I don't have
13 those things in front of me like I had
14 in front of him right now for this
15 case. So I'm happy to testify
16 regarding this case that we're talking
17 about now because of what I have in
18 front of me. But it just doesn't seem
19 to be fair to try to get me to make
20 opinions on something I don't have the
21 records to, I don't have any studies I
22 may have looked at, and so forth.

23 Q. Doctor, you have already
24 testified that there's no way this could
25 have happened to Lazo because of this

Page 80

1 very scenario we're talking about here,
2 and you say it's very rare that it's
3 happened. And yet I've just put before
4 you a report that documents that it's
5 happened in another case, and I have
6 here your sworn testimony in another
7 matter that I would like for you to
8 take a look at which actually is -- in
9 any case, I'm going to ask you if, in
10 fact, the same thing didn't occur.

11 MR. LOUCAS: First of
12 all, I'd like to put on the record a
13 motion to strike this witness's entire
14 testimony for refusing to take a look
15 at that document and answer the question
16 after so being instructed. And
17 actually, Madam Court Reporter, I would
18 ask you to instruct the witness to
19 answer the question as to whether or
20 not this situation as he's put in his
21 own writing over his own signature in
22 that report of February 27th, 1996, is
23 different than Lazo Devic.

24 THE REPORTER: You're
25 instructed to answer the question.

Page 81

1 MR. TIRA: And I'll state
2 an objection. The doctor has answered
3 the question as to reasons why it is a
4 question he cannot fairly answer, and as
5 previously phrased it referred to your
6 testimony in another case and you've set
7 forth a medical report, not testimony in
8 that particular case.

9 Q. I'm sorry. We're going to
10 talk first about a medical report where
11 the same scenario occurred and you gave
12 the same opinion, Doctor, and then we're
13 going to address the sworn testimony.
14 So first so it's on the record, the
15 report. Will you answer or not,
16 Doctor, will you --

17 MR. TIRA: Objection. He
18 has answered the question why he cannot
19 answer it.

20 MR. LOUCAS: Secondly,
21 Doctor -- move to strike testimony.

22 Q. First, however, Doctor, I do
23 want to give you that option. Sir,
24 would you like to go off the record so
25 you can take a look at that report,

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page

Page 84

1 read it and refresh your recollection to
2 see whether or not you have given
3 testimony consistent with your opinions
4 in the Devic case today previously in
5 court or previously?
6 MR. TIRA: Objection. I'm
7 sorry if you're not finished. Objection
8 on the basis that again referring to
9 testimony you've given him a report.
10 That's one objection.
11 And my other objection is
12 that
13 this has no bearing on the issues
14 presented and it's an improper attempt
15 to cross-examine the witness.
16 BY MR. LOUCAS:
17 Q. Doctor, would you please
18 just look at that document and tell me
19 whether or not it is your report and
20 your signature?
21 A. No, it's not even my
22 signature. I didn't write this report.
23 Q. Doctor, this is -- I'm
24 sorry, the opinion of Dr. Corn, the
25 other shareholder in this --

1 if they think about that question, you
2 know, I don't recall specifically
3 testimonies in the past. What I do
4 recall is that if the medical records
5 and the evaluation do not support a
6 causal relationship to a alleged injury,
7 then I would opinion so. If the
8 records and evaluations support that
9 there is a causal relationship, then I
10 would say so. So it's really based on
11 the individual facts to each case. And
12 that's how I perform these evaluations.
13 Q. Doctor, here's the
14 deposition, your sworn testimony, and
15 I'm going to ask you, is this your
16 deposition of Rhonda Stover versus Nancy
17 Figara?
18 A. I guess you better check
19 here. Yes, it is.
20 Q. And you have testified on
21 page 20, line 5, Any indication of any
22 injury to either lower extremity, either
23 leg or either knee as a result of this
24 accident of December 12, 1995? And you
25 said no. They were indicated to have

Page 8:

Page 85

1 A. I didn't write that report.
2 I never wrote that report.
3 Q. I apologize, Doctor. I was
4 under the impression --
5 A. I think you should.
6 Q. -- that you did. But let's
7 go to this case, then. How about
8 Rhonda Stover versus Nancy Figara. And
9 I will ask you again, and I move to
10 strike -- withdraw that line of
11 questioning as a result of that error
12 on my part, Doctor, with my apologies;
13 is that fair enough to you?
14 A. What's fair? To withdraw
15 the questioning even though I didn't
16 write the report?
17 Q. Yes, Doctor. But I'm going
18 to put the question back on the table
19 though. Have you ever testified in
20 another case that knees hitting the
21 dashboard did not cause an internal
22 derangement to the knee because there
23 was no pain or no swelling reported?
24 A. You know, that's kind of one
25 of those -- anybody listening to this,

1 full range of motion subsequently also
2 and appeared normal. This would not go
3 along with the history of striking the
4 knee on the dashboard.
5 So my question to you is,
6 have you not testified that in another
7 case that striking the knee on the
8 dashboard does not cause knee injuries?
9 MR. TIRA: Objection.
10 A. Well, I think that would
11 depend on the specifics of that case.
12 If I said that in that case, then those
13 records would support that the striking
14 of the knee the dash did not cause any
15 significant injury. We're not talking
16 about just a mild contusion. I mean,
17 you know, I struck my knee on the desk.
18 I struck my knee. Doesn't mean it's
19 going to be a significant injury.
20 In this own case, this
21 individual Mr. Devic had a contusion of
22 his right knee. Subsequently it went
23 away and he had no treatment for it.
24 That was his right knee. So just
25 because you hit your knee on something

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44124-2650

www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 86

1 doesn't mean it's going to end up with
2 a significant problem. It depends on
3 that case.

4 Q. In this case, Doctor, I
5 would ask you to look at page 11, line
6 16. The basis, part of the basis of
7 your opinion was that no history as to
8 whether either knee was bruised and
9 reported on the way to the emergency
10 room, correct?

11 MR. TIRA: Objection.

12 A. In this particular case?

13 Q. Yes.

14 A. It indicates that no history
15 was given.

16 MR. TIRA: Objection?

17 A. That history was not given
18 is what the response was.

19 Q. What that means is the same
20 thing as here, on her way to the
21 emergency and in the emergency room
22 there was no mention of knee pain as a
23 result of it having hit the dash,
24 correct?

25 MR. TIRA: Objection.

Page 88

1 personnel or emergency room personnel,
2 true?

3 MR. TIRA: Objection.

4 A. Well, again, I have not had
5 a chance to review the records here.
6 You're asking me specific little
7 pigeonholed questions out of a large
8 transcript. And the issue is that I
9 answered those questions honestly at the
10 time and based on the information that
11 I had. In regards to that case I
12 answered those questions. Now that case
13 isn't this case. Okay. So they're
14 different because they're different
15 people, different scenarios, different
16 facts. I look at each one separately.
17 And based in this case, the one we're
18 talking about, the records do not
19 support that the anterior cruciate
20 ligament tear occurred as a result of
21 the accident.

22 Q. And that was your opinion in
23 that case, the records didn't support
24 that she had a tear to the meniscus in
25 the knee from her knees hitting the

Page 87

1 A. I don't know if it means the
2 same thing in this case, okay. All
3 that means is when they asked that
4 question that individual did not give a
5 history of bruising or -- that was all
6 the question was asked about was
7 regarding bruising, I answered that
8 specifically.

9 Q. I'm sorry, take a look at
10 page 19, lines 12 through 17. Another
11 basis for your opinion in that case was
12 in your review of the medical records
13 there were no complaints regarding
14 either knee in the emergency room
15 record, true?

16 MR. TIRA: Objection.

17 A. Again my testimony at that
18 time indicates the records indicated
19 there was no complaints regarding either
20 knee.

21 Q. So am I right, part of the
22 basis in your case that that knee
23 injury was not from the accident because
24 there was no complaints of problems by
25 that plaintiff to the emergency medical

Page 89

1 dashboard because there were no
2 complaints like in Lazo's case, true or
3 not, Doctor?

4 MR. TIRA: Objection.

5 A. I don't recall.

6 Q. I'm going to ask you to go
7 ahead and refresh your recollection if
8 you like.

9 A. I don't recall the testimony
10 in regards to that, but again they're
11 separate cases so each are different,
12 okay, they're separate cases.

13 MR. TIRA: Objection.

14 Q. Doctor, in that case you did
15 review the MRIs, did you not?

16 A. I don't know. I don't
17 recall.

18 Q. Page 30, line 16, Doctor.

19 A. Yes, I did review MRI films.

20 MR. TIRA: Objection.

21 Q. And likewise you examined
22 that patient, did you not, sir?

23 MR. TIRA: Objection.

24 A. I don't recall. I'd have to
25 look at the -- can you tell me where

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 90

1 that is?
2 Q. Page 9, line 21.
3 A. Again, this was a testimony
4 that was in 1998, so some years ago.
5 Q. I'm sorry. Something more
6 direct would be page 8, line 14.
7 A. All right. Thank you.
8 MR. TIRA: Objection.
9 A. Yes.
10 Q. And likewise you took a
11 history from her, page 9, line 21,
12 correct?
13 MR. TIRA: Objection.
14 A. Yes.
15 Q. And you have here in your
16 own words, a history is what physicians
17 are trained to do in part evaluating
18 anyone. The history is the story the
19 patient tells us, why they are here,
20 how they feel, past medical history.
21 It's what they choose to tell us and we
22 usually document it, true?
23 MR. TIRA: Objection.
24 A. I indicated that in the part
25 of treating an individual we take a

Page 92

1 off the record at 1:23 p.m..
2 (Recess had.)
3 MR. TIRA: I'll move to
4 strike all testimony and questions
5 pertaining to prior medical reports,
6 prior deposition transcripts involving
7 other cases, other individuals.
8 VIDEO TECHNICIAN: Back
9 on the record at 1:29 p.m.
10 BY MR. LOUCAS:
11 Q. Doctor, you have testified
12 on direct examination that the typical
13 ligament that is torn in an automobile
14 collision is the posterior cruciate
15 ligament or PCL; is that true?
16 MR. TIRA: Objection.
17 A. I testified that when the
18 patient is forced forward and strikes
19 their knee on the dashboard that that
20 is the expected ligament to be injured.
21 Q. I'm sorry. I thought you
22 said when the tibia strikes the dash
23 and there's subluxation of the thigh
24 bone or femur bone forward, then that
25 causes the posterior cruciate ligament

Page 91

1 history.
2 Q. Page 35, line 21, Doctor.
3 A. I'm sorry, repeat that
4 please.
5 Q. Page 35, line 21, did you
6 not testify in that case identical to
7 this case, if someone had an injury as
8 a result of their knee hitting the
9 dashboard, I would expect there would be
10 immediate pain in the knee area. This
11 was not present, true?
12 MR. TIRA: Objection.
13 A. Again, you added identical.
14 I don't know that this case is
15 identical. They're different
16 incidences, different types of
17 accidents. They're different. I look
18 at them separately and base my opinions
19 on what the records, histories, exams
20 from that case indicate. These are two
21 separate cases.
22 Q. Thank you, Doctor.
23 MR. LOUCAS: Go off for
24 a moment please.
25 VIDEO TECHNICIAN: Going

Page 93

1 disruption; is that true?
2 A. What I'm referring to is
3 when the tibia is struck in the tibial
4 tuberosity region and the tibia is
5 driven posterior relative to the femur,
6 that that's how you injure the posterior
7 cruciate ligament.
8 Q. Okay. You would agree with
9 me though that anterior or posterior
10 cruciate ligaments can be injured in
11 motor vehicle accidents, true?
12 A. It's not really very common
13 for an anterior cruciate ligament to be
14 injured. I suppose anything is
15 possible, but one has to look at the
16 specifics of that case.
17 Q. Doctor, I'm going to hand
18 you a study. Are you familiar with --
19 this would be the American Journal of
20 Knee Surgery. Have you ever heard of
21 that journal?
22 A. Yes.
23 Q. This is a 1991 article
24 entitled the Incidence of Knee Ligament
25 Injuries in the General Population.

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting. Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 94

1 This doctor said that up to 16 percent
2 of injuries were due to automobile
3 accidents in this general study. And
4 it also says, Doctor, that this finding
5 supports previous reports that the ACL
6 is the most frequently torn
7 ligament. You would agree with me that
8 there exists medical literature that
9 contradicts your opinions in this case,
10 true?

11 MR. TIRA: Objection.

12 A. You know, without reading
13 the article, I'm not familiar with the
14 article. I can just say I wouldn't
15 agree with an opinion that ACL tears
16 are the most frequent injury for
17 ligament tears as a result of motor
18 vehicle accidents. I wouldn't agree
19 with that.

20 MR. TIRA: Move to strike
21 the question.

22 Q. But that's what it says in
23 that study, correct?

24 A. I haven't read the report.

25 Q. Here, Doctor, I'll point it

Page 96

1 there's a lot of information in the
2 study, and it's to be interpreted by
3 the orthopedic surgeon.

4 MR. TIRA: Objection, move
5 to strike.

6 Q. Can I have that study
7 please, Doctor. I'm sorry, Doctor, you
8 said the MCL was the most in automobile
9 cases?

10 A. No, it just refers to -- the
11 issue is this is a article, and there's
12 a lot of information in articles.
13 Articles need to be interpreted by
14 people who know what they're talking
15 about, okay. The issue is, based on my
16 training, my experience, is that
17 anterior cruciate ligaments are
18 uncommonly caused by motor vehicle
19 accidents.

20 MR. TIRA: Objection, move
21 to strike.

22 Q. And you also said, Doctor --
23 well, would you agree with me that the
24 way an anterior cruciate ligament
25 generally tears is that the thigh bone,

Page 95

1 out to you specifically.

2 MR. TIRA: Objection, lack
3 of foundation.

4 Q. Here it is, Doctor, page 6
5 this highlighted wording, portion right
6 there.

7 MR. TIRA: Objection, lack
8 of foundation, hearsay.

9 MR. LOUCAS: For purposes
10 of the record this foundation was laid
11 previously on the basis of the Fresh
12 Water case with regard to journal
13 articles, and based on useful and self
14 limits for liability. Number two, this
15 is not being used as evidence; rather
16 merely impeachment purposes. And it is
17 clear the doctor has just testified that
18 he doesn't know this study exists.

19 MR. TIRA: Objection.

20 A. Well, there's some
21 contradictions. Also notes that there's
22 -- although the MCL is by far the most
23 commonly injured ligament. That's not
24 the ACL. It's the MCL. It's states
25 that in the report or study. So

Page 97

1 it's the opposite of what you described
2 for the posterior, the thigh bone
3 typically subluxates or slips behind the
4 tibia, meaning it moves backward, and
5 the tibia moves forward?

6 A. In an automobile accident
7 that's an unusual scenario because if an
8 individual is moving forward and strikes
9 the dash on their tibia, then the tibia
10 is being pushed posteriorly, thus
11 injuring the posterior cruciate
12 ligament.

13 Q. That wasn't my question,
14 Doctor. The question was with ACL
15 tears, the way it happens is the femur
16 moves back behind the tibia and the
17 tibia comes forward, the lower leg;
18 isn't that accurate?

19 A. There is usually a loading
20 of the knee in an upright position of
21 running, cutting while the knee is
22 loaded while upright, not sitting. And
23 the issue for an anterior cruciate
24 ligament tear is there's usually a
25 rotary component, meaning a twisting

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

<p style="text-align: right;">Page 98</p> <p>1 component, while loading, while standing 2 or running. Again, unusual in a 3 sitting position. 4 Q. Isn't it true that these 5 types of injuries occur just before 6 hyperextending the knee or straightening 7 it? 8 A. Well, that can be one 9 mechanism, but again that's while 10 loading the knee, as I said, upright. 11 Q. And if Lazo Devic is six 12 feet tall and driving a Toyota Tercel 13 and trying to take his foot onto a 14 clutch or bracing himself for an impact 15 while striking the knee, it's your 16 testimony it's impossible that that 17 could never happen, true? 18 MR. TIRA: Objection. 19 A. Well, again, you know, 20 anything is possible, but you have to 21 look at the specifics of the case, and 22 in this case the records don't support 23 that the anterior cruciate ligament 24 occurred at the time of the accident. 25 Q. Doctor, if instead of the</p>	<p style="text-align: right;">Page 100</p> <p>1 and was pushed backwards in that 2 subluxation that we talked about and the 3 tibia came forward, that's the type of 4 injury that's known to cause a tear of 5 the ACL, true? 6 MR. LOUCAS: Objection. 7 A. I think that's a very 8 unusual scenario. And again in this 9 specific case you're the one that 10 pointed out he was a tall individual in 11 a small car. It's hard to imagine that 12 happening in this case. 13 Q. That's not the question, 14 Doctor. Can it happen like that or 15 not? 16 A. I think it's pretty unusual 17 because most of the force is going to 18 be taken up by hitting the femur and 19 the tibia. You wouldn't really expect 20 it to slide forward with much force 21 because the person is sliding forward in 22 their seat and the foot is planted, and 23 the idea is that there's not a lot of 24 load on the knee because they're not 25 standing.</p>
<p style="text-align: right;">Page 99</p> <p>1 tibia being struck on the dashboard the 2 femur is struck on the dashboard, thus 3 pushing it backward and the tibia 4 forward for that subluxation that we 5 just talked about, would you agree that 6 would promote or increase the incidence 7 of an ACL tear? 8 A. Well, in this specific type 9 of accident it's difficult to imagine 10 that scenario because one of the thing 11 of being a tall individual is that his 12 tibia is long, so his tibia is more 13 likely to hit the dash than his femur. 14 And the most likely scenario would be, 15 if he were going to injure a ligament, 16 would be the posterior cruciate 17 ligament, not the anterior cruciate 18 ligament. 19 Q. Doctor, that wasn't even the 20 question but let's try again. I want 21 you to assume for me, it's called a 22 hypothetical and I think after all your 23 experience you would know this. If his 24 thigh hit, not the tibia, the lower 25 leg, but the upper leg hit that dash</p>	<p style="text-align: right;">Page 101</p> <p>1 Q. Doctor, let's talk about 2 something really fundamental. I don't 3 even want to talk about impacts or 4 biomechanics or anything like that. 5 Isn't it true that the way an ACL is 6 typically torn is through the 7 subluxation, meaning the slippage of the 8 femur posterior or behind the tibia? 9 It's a very general principle. Do you 10 agree or disagree with that? 11 A. Well, you're asking it in 12 kind of an unusual manner. I think 13 what we're getting at is that the tibia 14 slips or is forced anterior relative to 15 the femur. The femur doesn't go 16 posterior. It's kind of the other way 17 around. So that's why the question isn't 18 really clear. I'm just trying to help 19 you out with clearing up the question. 20 Q. I see, I see, but I was 21 saying it -- my idea was correct, I was 22 saying it incorrectly though. The tibia 23 goes forward and the femur goes 24 backward, right? 25 A. That can happen.</p>

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 102

1 Q. But isn't that the typical
2 mechanism of injury for an ACL tear,
3 the tibia going forward and the fibula
4 -- the femur going backward, the thigh
5 bone going backward?
6 A. I think in a running sports
7 injury with the individual upright and
8 loading their knee while running or in
9 a contact situation while upright and
10 loading the leg, you know, an upright
11 sports type injury, running, cutting,
12 that kind of thing. Not sitting in a
13 car. I don't think that really applies
14 to sitting in a car in a motor vehicle
15 accident.
16 Q. Doctor, I'm just asking for
17 the two mechanisms of injury of the PCL
18 and ACL. You've already told this jury
19 that with the posterior cruciate
20 ligament injury the tibia goes backwards
21 and thigh bone or femur goes over the
22 top forwards. Now I'm asking you the
23 simple opposite of that. Isn't it true
24 that with the anterior cruciate ligament
25 the exact opposite mechanism is involved

Page 103

1 where the tibia goes forward and femur
2 goes backward, that's all. Simple
3 question.
4 A. I've already answered that
5 question. Then you asked me about
6 commonly, and I'm telling you that
7 commonly that doesn't occur in a car
8 accident. It occurs in upright loaded
9 sports, running, cutting type of
10 injuries.
11 Q. Doctor, I never asked you
12 about car accidents. I'm asking you
13 about the typical way that an ACL is
14 torn. Isn't that the way it happens,
15 that a femur goes backwards and the
16 tibia forward, sir?
17 A. You've asked me about car
18 accidents previously in the questioning.
19 I've already told you that, and I've
20 answered it already, that the issue is
21 that in an anterior cruciate ligament
22 tear the tibia can move forward relative
23 to the femur and it can occur that way.
24 I've already told you that.
25 Q. Doctor, isn't that the

Page 104

1 general way that it does happen? Isn't
2 that the most frequently recognized
3 mechanism for it to happen, just the
4 opposite of the mechanism you described
5 for the PCL tear earlier?
6 A. But you're asking in general
7 now. So the issue, you were just
8 talking about purely just anatomy
9 motion. In general, the injury occurs
10 in a running, cutting, loaded, upright
11 position and that's what I'm trying to
12 answer.
13 Q. So you can't answer that
14 question directly; is that right,
15 Doctor?
16 A. I just did.
17 Q. Let's talk for a minute
18 about the symptoms that a patient feels
19 when this type of injury occurs.
20 Symptoms are subjective, meaning the
21 Complaints of the patient or what the
22 patient is feeling as described by the
23 patient, true?
24 A. Well, symptoms are typically
25 what the patient reports. That's

Page 105

1 subjective.
2 Q. And what one individual
3 might think are horrible symptoms
4 another individual might report I don't
5 have any problems, true?
6 A. It depends on what their
7 situation is.
8 MR. LOUCAS: Off the
9 record please.
10 VIDEO TECHNICIAN: Going
11 off the record at 1:42 p.m.
12 (Discussion off record.)
13 VIDEO TECHNICIAN: Back
14 on the record at 1:42 p.m.
15 BY MR. LOUCAS:
16 Q. Doctor, I'm going to hand
17 you your deposition from the Rhonda
18 Stover versus Nancy Figara case taken
19 July 6th, 1998. I'm going to ask you
20 to go to page 59 and go to line 4.
21 Page 59, line 4, Doctor, I had just
22 repeated your own testimony. What one
23 individual might think are horrible
24 symptoms another individual might
25 report, I don't have any problems. Is

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 106

1 that true or isn't that true?
2 MR. TIRA: Objection.
3 A. Well, in the context of the
4 questioning it's the idea as I said, it
5 depends on the situation they're in,
6 depends on the individual. There are a
7 lot of factors that are involved and
8 that was pointed out in that testimony
9 too.
10 Q. But isn't that what that
11 says there word for word?
12 MR. TIRA: Objection.
13 A. It based on what I have
14 already said, that it depends on the
15 situation, depends on the individual, it
16 can vary.
17 Q. It's a simple question,
18 Doctor. Please look at that transcript.
19 Did I not repeat verbatim your own
20 testimony, sir?
21 MR. TIRA: Objection.
22 A. I don't recall specifically
23 what you said. It was the issue of
24 subjectivity of pain and I said yes,
25 it's subjective.

Page 107

1 Q. Would you hand me that
2 deposition, please, Doctor? I will
3 refresh your recollection for you since
4 you cannot recall what I said.
5 MR. TIRA: Object.
6 Q. What I said was page 59,
7 line 4, your answer, I've already tried
8 to answer that question for you. That
9 issue of pain and symptoms is a purely
10 subjective issue. What one individual
11 might think are horrible symptoms
12 another individual might report, I don't
13 have any problems. So that's
14 subjective.
15 Wasn't that your
16 testimony, sir, directly from your sworn
17 testimony?
18 MR. TIRA: Objection.
19 A. That's a quote from my
20 response, but there were other questions
21 asked before that. It's in the context
22 of that questioning. And the issue is
23 I've already told you, subjective is
24 what the individual cares to report.
25 And as I indicate in that, is that it's

Page 108

1 what an individual reports. It's
2 subjective. It's purely subjective.
3 Q. Doctor, some people can have
4 knee injuries and have no complaints of
5 pain at all; isn't that true?
6 A. Depends on the knee injury.
7 Q. Some people can have knee
8 injuries and have slight, none to slight
9 swelling; is that true?
10 A. Depends on the knee injury.
11 Q. And some patients will have
12 none to slight pain even with a
13 complete tear of the ACL; isn't that
14 true?
15 A. Not patients that I have
16 treated.
17 Q. Are you aware of any
18 documentation, sir, in the medical
19 literature which supports that theory,
20 that complete tears can happen in the
21 anterior cruciate ligament with none to
22 slight pain?
23 A. Not that I'm aware of.
24 Q. Let me see if I can help
25 you. Are you familiar -- yes, I think

Page 109

1 you subscribe to it, the Journal of
2 Bone and Joint Surgery, sir?
3 A. I do read that journal from
4 time to time.
5 Q. That study, sir, says that
6 popping sensations as you've testified
7 occurred at injury with ACLs, in 33
8 percent with normal ACLs and 36 percent
9 with disruption, which means that
10 two-thirds of the time, first of all,
11 popping does not need to occur; is that
12 true?
13 MR. TIRA: Objection.
14 Q. We'll work through them
15 systematically.
16 A. I'm not sure that's what
17 your statement implied.
18 MR. TIRA: Move to strike.
19 Q. I know I started talking,
20 Doctor, about -- here's this study for
21 you, Doctor, if you want to take a look
22 at it, but I wanted to demonstrate that
23 there is medical literature out there
24 that's inconsistent with your opinions.
25 And in that study, Doctor, tell me if

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 110

1 I'm wrong, 33 of the patients in that
2 study had none to slight pain.
3 MR. TIRA: Objection.
4 A. But the study is talking
5 about traumatic swelling in the knee.
6 Knees that were traumatically swollen.
7 So they had, in order to be in the
8 study, they had a traumatic swelling of
9 the knee, so they had that. This
10 individual didn't even have that. So
11 it doesn't really compare. It's kind
12 of apples and oranges.
13 Q. Doctor, doesn't that article
14 also state that some patients who have
15 an ACL tear in the knee will not hear
16 any popping, 36 percent in that study,
17 isn't that true?
18 MR. TIRA: Objection.
19 A. It doesn't mean that they
20 didn't have a tear. But they had a
21 hemarthrosis. In other words, they had
22 that significant swelling in the knee.
23 And that's one of the issues that I
24 have been talking about is that this
25 doesn't -- this study doesn't really

Page 112

1 this diagnosis isn't based just on
2 whether there's pain or swelling of a
3 torn ACL?
4 A. Are you asking in general or
5 in this case? I'm not clear on your
6 question.
7 Q. In general.
8 A. Well, it's based on the
9 history, the exam, and studies.
10 Q. But you'd agree with me that
11 history alone does not make the
12 diagnosis of a knee injury like an ACL
13 tear?
14 A. Well, you wouldn't make a
15 diagnosis just based on history. You
16 consider the history as a part of
17 making the diagnosis, but you also
18 consider the other factors we've talked
19 about.
20 Q. May we agree that a large
21 effusion or swelling is consistent with
22 a more extensive derangement in the
23 knee?
24 A. It depends on the injury.
25 But we're talking specifically about

Page 111

1 apply.
2 Q. And, Doctor, that study also
3 says that some patients will not have
4 any swelling. At two hours 67 percent
5 had no swelling, at 12 hours 83 percent
6 -- or I'm sorry at two hours 67 percent
7 did have swelling, meaning 33 percent or
8 one-third did not have any swelling with
9 a complete tear of the ACL. And
10 likewise at 12 hours, sir, 17 percent
11 still did not have any swelling.
12 Would you agree with me
13 that patients can have a complete tear
14 of the ACL without swelling?
15 MR. TIRA: Objection.
16 A. Well, you know, look, based
17 on my experience and my expertise and
18 my practice, that if an individual tears
19 their anterior cruciate ligament
20 acutely, as a result of an event, that
21 they have an acute hemarthrosis meaning
22 blood that fills up the knee. And that
23 occurs quickly. And that's been my
24 clinical experience.
25 Q. You would agree with me that

Page 113

1 anterior cruciate ligament injuries.
2 Q. Well, there's anterior
3 cruciate, but there are many other
4 structures involved in the knee,
5 correct? I mean, if you're only
6 damaging one ligament versus the entire
7 capsule and multiple ligaments, you'd
8 expect to have less swelling or less
9 damage in the knee as a result of the
10 lesser damage to the structures?
11 A. It depends on what structure
12 you're talking about. If you're talking
13 about the anterior cruciate ligament,
14 which we're talking about in this case,
15 if you acutely tear that, you get an
16 acute hemarthrosis, hemarthrosis meaning
17 blood filling up the knee. We're
18 already talked about that.
19 Q. Doctor, may we agree that
20 there's a difference between an acute
21 versus a chronic tear in the ACL?
22 A. Yes, there's a difference.
23 Q. Acute means nothing more
24 than the injury occurred recently versus
25 chronic where it occurred in the remote

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
Mww.cefgroup.com

1 past, over a longer period of time?
 2 A. Well, an acute ACL tear
 3 means it occurs at a specific time or
 4 event. A chronic means it was there
 5 before essentially.
 6 Q. So your testimony is, so
 7 that I understand in this case, is that
 8 Lazo's tear of his ligament was there
 9 before, true?
 10 A. You know, I don't know for
 11 sure if it was there before, but that
 12 would make sense. What I do know is
 13 that the records don't support that he
 14 tore it at the time of the motor
 15 vehicle accident in question.
 16 Q. Now, if he tore it before,
 17 you said that would make sense because
 18 of those records, how do you know?
 19 When did he tear it? Do you have any
 20 opinions about that after your review of
 21 these records, when he tore it?
 22 A. I wouldn't know exactly when
 23 he tore it, but you asked the question
 24 so I'll answer it. The issue is that
 25 the records indicate that he was

1 time before this accident. I don't
 2 know exactly when that would be,
 3 Q. And, Doctor, do you know how
 4 he would have torn that accident? Did
 5 you see any evidence or do you hold any
 6 opinions as to how he tore it?
 7 A. Well, again, I don't think
 8 we have records from before the
 9 accident, but the issue is that in
 10 an individual who obviously is involved
 11 in a lot of sports can tear their
 12 anterior cruciate ligament in a sports
 13 injury. And with the records we have,
 14 that he was able to return to
 15 aggressive running and cutting even with
 16 a torn ACL implies he could do it
 17 before.
 18 Q. Doctor, if we were to
 19 believe your testimony in this case,
 20 with the significance of the injury that
 21 you would expect, when he actually did
 22 tear his ACL he should have had the
 23 very symptoms that you have talked
 24 about, that major swelling, et cetera,
 25 hemarthrosis?

1 subsequently found to have an ACL tear
 2 by an MRI scan and then had a treatment
 3 with some therapy just to strengthen the
 4 knee. And he went back to very
 5 aggressive sports, cutting and running,
 6 indicating that he was very capable of
 7 a lot of aggressive sports activity even
 8 with his anterior cruciate ligament
 9 tear. So that goes along with it being
 10 having been there before just based on
 11 that information.
 12 Q. Well, Doctor, would you tell
 13 me though, when did he tear that
 14 ligament? Give me your more likely
 15 than not, greater than 50 percent
 16 likelihood thoughts on the matter.
 17 MR. TIRA: Objection.
 18 Objection, skipping beyond whether he
 19 can state. I think the foundation
 20 hasn't been laid to ask that question.
 21 Q. Anyway, Doctor, do you have
 22 any opinions whatsoever as to when in
 23 time Lazo Devic tore his ACL?
 24 A. Well, you know, as I
 25 indicated, the records would imply some

1 A. That's the usual expectation.
 2 Q. Okay. So if we further
 3 assume that you do have all the records
 4 in this case and that there's never
 5 been any record of him ever reporting
 6 to any physician a knee injury or
 7 treating for a knee injury, would you
 8 agree with me more likely than not the
 9 injury occurred as a result of this
 10 collision and not prior?
 11 MR. TIRA: Objection.
 12 A. First off, I don't think we
 13 have all the medical records this
 14 gentleman has ever generated, okay, so
 15 I'm not sure that's really a fair
 16 question. The records we do have do
 17 not support that he acutely tore his
 18 anterior cruciate ligament at the time
 19 of the motor vehicle accident.
 20 Q. Assuming you have his entire
 21 records in their entirety, Doctor, do
 22 you have an answer to that question?
 23 MR. TIRA: Objection.
 24 It's an improper assumption. We know
 25 that he doesn't have all the medical

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 118

1 records for time on Lazo Devic.
2 A. So what's the question
3 again?
4 Q. Doctor, I want you to assume
5 that in all the medical records that
6 Joe Tira has on Lazo Devic that they
7 represent all the medical records on
8 Lazo and that there's no evidence of
9 any knee injury or medical treatment for
10 a knee injury. Would you agree with me
11 that in light of that, the likelihood
12 is that this tear came as a result of
13 the impact of his knees on the
14 dashboard in this collision and not some
15 prior uncertain event?
16 MR. TIRA: Objection.
17 A. Kind of sounds like a loaded
18 question. The issue is that I don't
19 think we can fairly assume that we have
20 all of his records because obviously the
21 guy got treated for various medical
22 things before this. That would be very
23 unusual if he hadn't. We don't have
24 any records regarding that. The records
25 that we do have do not support that the

Page 119

1 anterior cruciate ligament tear occurred
2 at the time of the motor vehicle
3 accident.
4 Q. Doctor, when a patient has,
5 and you used this word specifically on
6 your direct, ACL deficient knee, when a
7 patient has a chronic ACL deficient
8 knee, meaning that the anterior cruciate
9 ligament has been let's just go with a
10 tear, that it's completely torn, the
11 wear and tear would start to show over
12 a period of time and there are studies
13 available to confirm that?
14 A. It depends on the
15 individual.
16 Q. Well, you would agree with
17 me that if there's a complete rupture
18 or tear of the ACL, it's first of all
19 called a Grade III tear?
20 A. Well a Grade III implies a
21 fleet tear.
22 Q. When you have a Grade III
23 tear with no surgery, meaning it's
24 treated conservatively, that over a
25 period of time the laxity or looseness

Page 120

1 from not having that ligament present
2 within the knee structure starts to show
3 on x-rays especially?
4 A. I wouldn't say it starts to
5 show on x-ray first. I mean, you know,
6 an individual can develop some
7 instability if they have a chronic ACL
8 tear. That's possible.
9 Q. That's what I'm getting at,
10 thank you. Maybe we're
11 miscommunicating, Doctor.
12 A. I think we are.
13 Q. I'm sorry?
14 A. It sounds like we are. I
15 was trying to help you with the
16 question.
17 Q. Well, what I'm trying to get
18 at is it's better to have an ACL than
19 not to have one at all with regard to
20 the soundness of the knee joint, true?
21 A. Well, I mean, in general
22 terms you'd rather have all your
23 ligaments in the knee intact as opposed
24 to having one of them torn. I think
25 that's generally true.

Page 121

1 Q. Well, I know it sounds
2 silly, but --
3 A. I'm not saying it's silly,
4 it's true.
5 Q. Just getting to a
6 fundamental point, and that is people
7 with torn ACLs will have increased
8 instability of the knee over time; isn't
9 that true?
10 A. It depends on the
11 individual. In this specific case this
12 individual was diagnosed as having a
13 Grade III ACL tear and went back to
14 aggressive sports activity with that
15 tear, indicating that that tear probably
16 was there for some time. It's not
17 consistent with an acute tear that
18 occurred in the accident.
19 Q. Have you ever heard of a
20 patient that had a complete tear of the
21 ACL that went back and resumed playing
22 sports or whatever their activities were
23 immediately? Does that ever happen?
24 A. Not in my experience.
25 Q. Going back to the study in

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 12

1 the
2 Journal of Bone and Joint Surgery,
3 Doctor, this says that symptoms in an
4 acute injury to the knee, the severity
5 of the original injury often is not
6 appreciated initially by the patient.
7 And that's supported by the fact that
8 33 percent of the patients in the study
9 had no pain and 15 percent resumed
10 playing the sport immediately in the
11 study.

12 I take it you disagree
13 with that; is that correct, Doctor.

14 MR. TIRA: Objection.
15 A. Doesn't sound like that is a
16 specific reference to ACL injuries.
17 Sounds like all knee injuries in
18 general. As I've already told you,
19 they're all different. Depends on the
20 injury.

21 Q. Sir, would you agree with
22 me, here's that article, that this
23 article deals solely with anterior
24 cruciate ligament injuries?

25 MR. TIRA: Objection.

Page 124

1 at anterior cruciate ligament injuries
2 to some extent, but also other injuries
3 and the issue of you didn't get into
4 this study unless you had an acute
5 hemarthrosis of the knee. So you have
6 to be careful what you're trying to
7 pigeonhole things into.

8 Q. Doctor, that doesn't talk
9 about posterior cruciate ligament
10 injuries, that doesn't torn about torn
11 menisci, as Mr. Tira talked about --

12 A. Sure it does.

13 Q. -- initially. Those are the
14 incidental injuries to the ACL that
15 study is talking about, isn't it?

16 MR. TIRA: Objection.

17 A. It talks about anterior
18 cruciate ligament injuries and other
19 injuries of the knee and knees that
20 have acute hemarthrosis. That's not
21 what this case is about. This
22 individual did not have an acute
23 hemarthrosis. The records all indicate
24 he reported he didn't have any swelling.
25 Even Dr. Lika noted in his record that

Page 123

1 A. Well, it says Arthroscopy in
2 Acute Traumatic hemarthrosis of the
3 Knee, Incidence of Anterior Cruciate
4 Tears, and Other Injuries. So no, it's
5 not just the anterior cruciate ligament.

6 Q. Doctor, I don't want to lose
7 credibility with this jury, sir. Would
8 you please take a look at this study
9 and look at the tables which reference
10 the numbers I was talking about. And
11 you will see, please confirm whether
12 this is accurate or not for these
13 ladies and gentlemen.

14 A. I'll be happy.

15 Q. That this is talking about
16 anterior cruciate ligaments and other
17 incidental injuries that are a result
18 primarily of ACL injuries.

19 MR. TIRA: Objection.

20 Q. Isn't -- aren't those tables
21 and all those figures solely addressing
22 ACL injuries?

23 MR. TIRA: Objection.

24 A. The study indicates, as the
25 title indicates, it's certainly looking

Page 125

1 it's amazing that a few weeks after
2 this injury he doesn't have any
3 swelling.
4 ask you Q. He doesn't hear him saying to

6 that the principle subject matter of
7 this article is not the ACL?

8 MR. TIRA: Objection.

9 is, sir, after looking at this? If you

11 want to look at it again, go ahead.

12 MR. TIRA: Objection.

13 produced for orthopedic surgeons to

15 interpret, okay. The issue in this
16 study is that they're talking about
17 acute hemarthrosis and the things you
18 find when you scope the knee when
19 people have acute hemarthrosis.
20 Anterior cruciate ligaments can be one
21 of those things. As I've told you,
22 that when you tear the anterior cruciate
23 ligament, you get an acute hemarthrosis,
24 meaning that the blood rapidly
25 accumulates in the knee from the tear.

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 126

1 And that's one of the things that is a
2 point about this article.
3 So in this case doesn't
4 make sense to use this article as a
5 supporting issue because it actually
6 shows us that he didn't have a
7 hemarthrosis. Nobody ever said he had
8 swelling. They all talk about it's
9 amazing he doesn't have swelling. It
10 goes along with this tear didn't occur
11 at the time of the accident.

12 MR. TIRA: Move to strike.

13 Q. Doctor, we had talked about
14 somebody without an ACL having
15 instability over time. May we agree
16 that patients with long-term or chronic
17 tears of the anterior will show changes
18 in their x-rays?

19 A. It depends on the
20 individual, depends on a lot of factors.

21 Q. Would you agree with me that
22 long-term knee instability from anterior
23 cruciate ligament rupture appears as
24 arthritic changes on an x-ray more
25 likely than not, sir?

Page 128

1 for instance, of the interior joint
2 spaces between the knees, or you don't
3 expect to see osteophyte formation or
4 increased calcification on the bones; is
5 that what you're saying, Doctor?
6 A. I think we're getting lost
7 in the words. You used the word
8 instability, and I'm using the word
9 anterior cruciate deficient knee. Just
10 because someone has a torn anterior
11 cruciate doesn't mean they have to have
12 a reconstruction. Depends if their knee
13 is unstable or not. If somebody has an
14 unstable knee, then they can develop
15 those degenerative changes down the
16 road.

17 Q. Doctor, I never even
18 mentioned the words reconstruction. So
19 does that change the way you want to
20 answer that at all? I just talked
21 about instability, sir, and over a long
22 period of time whether that would cause
23 somebody to have evidence of arthritis.

24 A. It's a complex issue, and
25 I'm trying to explain it because I know

Page 127

1 A. You know, I wouldn't --
2 MR. TIRA: Objection?

3 A. Wouldn't say you can say
4 that more likely than not. Depends on
5 the individual. We've seen that people
6 who rehab their knee and have a
7 relatively stable knee and have an
8 anterior cruciate ligament tear do
9 pretty well.

10 Q. So patients who have lived
11 with a Grade III complete tear over a
12 long period of time will or will not
13 have chronic symptomatic instability
14 more likely than not?

15 A. It depends, you know. It
16 depends on the individual. If they
17 have really rehabbed their knee and they
18 really have a lot of secondary
19 restraints, muscles that are strong
20 around the knee, a lot of people do
21 quite well with the anterior cruciate
22 deficient knees.

23 Q. So that even over a long
24 period of time with this instability,
25 you don't expect to see any flattening,

Page 129

1 the people listening to this are
2 probably a little confused and I'm
3 trying to be clear as to what we're
4 talking about.
5 Q. Would you agree with me that
6 the majority of the people that would
7 have a Grade III tear of the ACL that
8 goes untreated over a long period of
9 time would have significantly reduced
10 physical activity due to knee symptoms?

11 MR. TIRA: Objection.

12 A. That would be if they have
13 an unstable knee, which is why I gave
14 the explanation I just did, because it's
15 a confusing issue. If the knee is
16 unstable, yes.

17 Q. And how about post-traumatic
18 arthritis, would you expect to see
19 osteophyte formation?

20 A. It depends if the knee is
21 unstable or not.

22 Q. Would you expect to see
23 narrowing of the lateral and medial
24 joint spaces that we just discussed in
25 a post-traumatic arthritis knee?

800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 130

1 MR. TIRA: Objection.
2 A. You're asking me, you know,
3 post-traumatic arthritis means that
4 somebody has arthritis. The way you
5 ask me the question means, yeah, they
6 have arthritis. So yeah they have
7 arthritis if they have arthritis is kind
8 of what we're getting at here.
9 Q. May we agree it's well known
10 that a high level of muscular strength
11 in the thigh can compensate to some
12 degree for loss of an ACL?
13 A. If, as I've told you before,
14 that if somebody has a really strongly
15 rehabbed knee they may not have
16 instability and have an anterior
17 cruciate tear at the same time.
18 VIDEO TECHNICIAN: Going
19 off the record at 2:05 p.m.
20 (Discussion off record.)
21 VIDEO TECHNICIAN: Back
22 on the record at 2:06 p.m.
23 BY MR. LOUCAS:
24 Q. Doctor, you would agree with
25 me that the MRI evaluation of an ACL

Page 132

1 because of the anterior cruciate tear
2 that's been there for a long time.
3 Q. Doctor, I'm going to hand
4 you an article from the American Journal
5 of Sports Medicine. This one, sir, is
6 dated 1993 from the University of
7 Wisconsin. It says here, the title of
8 the article is Bone Bruises on Magnetic
9 Resonance Imaging, Evaluation of
10 Anterior Cruciate Ligament Injuries. 71
11 percent of the magnetic resonance images
12 taken within six weeks of injury
13 demonstrated a bone bruise whereas no
14 scans done longer than six weeks after
15 injury showed a bruise.
16 My first question to you,
17 Doctor, is 71 percent is more likely
18 than not, isn't it, as you are familiar
19 with our standards?
20 MR. TIRA: Objection.
21 A. Well, again, depends on a
22 lot of study bias. The issue is I've
23 seen plenty of people who have had MRI
24 scans after acute ACL injuries who did
25 not have bone bruises. So that doesn't

Page 131

24 it on a chronic basis just because of
25 loading changes within the knee itself

Page 133

1 mean you have to have a bone bruise on
2 an MRI scan to have a recent ACL
3 injury. So if that's what you're
4 asking me I wouldn't agree with that.
5 Q. No, Doctor. My question was
6 real straightforward from the outset.
7 That is would you agree with me that
8 MRIs done within six weeks of an ACL
9 tear more likely than not will show a
10 bone bruise, greater than 50 percent
11 likelihood? That was the original
12 question.
13 A. And I've just answered you.
14 I wouldn't agree with that based on my
15 own practice and my own clinical
16 experience. I've seen plenty of MRIs
17 of people who had acute ACL tears who
18 didn't have a bone bruise.
19 Q. But that is in contrast to
20 that article that I have just given to
21 you, correct?
22 MR. TIRA: Objection.
23 A. Yeah, I haven't read and
24 interpreted the article. We've gone
25 down this road before. What I am

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 134

1 telling you is in my clinical practice,
2 based on my experience and training as
3 an orthopedic surgeon, that I see people
4 who have acute ACL tears and MRI scans
5 within six weeks who don't have bone
6 bruises. So based on that, you don't
7 have to have a bone bruise to support
8 an acute ACL tear.

9 Q. In that study, Doctor, none,
10 zero patients demonstrated a bone bruise
11 on MRI after six weeks; is that right?

12 MR. TIRA: Objection.

13 A. I haven't read the study.

14 Q. Here it is, Doctor. I
15 didn't mean to interrupt you, but go
16 ahead, take a look at it.

17 A. Look --

18 MR. TIRA: Objection.

19 A. -- I'm telling you that
20 based on my clinical experience, I hope
21 I'm being clear here, is that you don't
22 have to have a bone bruise to have an
23 acute ACL tear. It can happen. You
24 can have a bone bruise and have an
25 acute ACL tear, but it doesn't have to

Page 136

1 clinical experience and my practice and
2 what I see on a day-to-day basis in
3 patients, in real people that I treat,
4 that you don't have to have a bone
5 bruise on an MRI scan shortly after an
6 anterior cruciate tear, an acute one.
7 I think that's fair.

8 Q. May we agree that bone
9 bruises on MRI in acute injury
10 represents blunt injury to joint
11 cartilages and fractures of the
12 subchondral bone?

13 A. It depends. That can be one
14 case, but the other case can be that
15 there's abnormal loading of a joint as
16 in a chronic anterior cruciate ligament
17 tear, and that the joint surface sees
18 abnormal loading. And you can see that
19 on an MRI scan when you know that the
20 anterior cruciate tear has been there
21 for a long time. So that can happen
22 too as a long-term basis.

23 Q. Doctor, Lazo's MRI report
24 showed bone bruise, didn't it?

25 A. It showed signal change, and

Page 135

1 be there. So that's been my clinical
2 experience looking at real patients that
3 I know a lot about. And that's what
4 I'm basing my opinions on is my
5 clinical expertise, my training, and my
6 experience as an orthopedic surgeon.

7 Q. Doctor, would you agree with
8 me that most if not all however of
9 patients that don't show a bone bruise
10 on MRI, that means that the injury is
11 older than six weeks old?

12 A. I don't think it implies
13 that.

14 Q. So out of those number of
15 patients in this study, and none of
16 them showed up with a bone bruise after
17 the injury was six weeks or older,
18 that's 98 consecutive patients, you
19 disagree with the outcome of that study;
20 is that accurate, Doctor?

21 MR. TIRA: Objection.

22 A. I didn't say I'm disagreeing
23 with the outcome of the study because I
24 really haven't evaluated that study.
25 What I'm telling you is based on my

Page 137

1 that's interpreted as bone marrow edema.
2 Bone marrow edema is often called bone
3 bruising. It can be a chronic bone
4 marrow edema with the same findings that
5 he had on the MRI scan.

6 Q. I take it you have a copy in
7 your records of the study, the MRI
8 study?

9 A. Yes.

10 Q. Because does cancellus mean
11 bone?

12 A. Well, cancellus means
13 Underneath the joint surface is what
14 that means in the indication of this
15 study.

16 Q. That's bone, right?
17 Cancellus in this MRI study is bone,
18 right?

19 A. In general terms, yes, but
20 it's not the joint surface bone. It's
21 that right underneath the joint surface.

22 Q. It says cancellus edema
23 slash contusion. So the jury is not
24 confused, edema is, what, swelling?

25 A. It just means bone marrow

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 138

1 edema. As I've already said, you can
 2 see that in someone who has had a
 3 long-term anterior cruciate tear,
 4 meaning months, years. They can have
 5 the same findings that this individual
 6 had on his MRI scan.
 7 Q. And it says contusion. That
 8 means bruising, right?
 9 A. Right. It's referring to
 10 the bone marrow change, the signal
 11 change on the MRI scan.
 12 Q. It says impaction injury of
 13 the femoral chondral is seen with slight
 14 one to two millimeter cortical
 15 irregularity and flattening depression.
 16 Is that evidence of a blunt trauma,
 17 Doctor?
 18 A. It could be. It could be
 19 something that happened a long time ago.
 20 It could also be something that's been
 21 ongoing and as a result of abnormal
 22 loading within the knee because of a
 23 chronic ACL tear that they have
 24 abnormality of the supporting structure
 25 around the joint surface. It can occur

Page 139

1 for that reason also.
 2 Q. It says here also, Doctor, a
 3 small to moderate effusion is seen.
 4 There was swelling in this knee, wasn't
 5 there?
 6 A. A small amount. And, again,
 7 that can be consistent with a chronic
 8 anterior cruciate tear. At no time in
 9 any of these records does it indicate
 10 there was an acute large effusion of
 11 the knee.
 12 Q. It says --
 13 A. It's not in any of the
 14 records and that really goes against
 15 this anterior cruciate ligament tearing
 16 at the time of the motor vehicle
 17 accident.
 18 Q. It says small to moderate
 19 effusion. The next step would be large.
 20 A. Well, anterior cruciate tears
 21 usually produce large effusions.
 22 Q. But this says small to
 23 moderate, right?
 24 A. Again, I said but that's not
 25 unusual. If somebody has a chronic

Page 140

1 anterior cruciate tear, it's not unusual
 2 for them to have periodic small
 3 effusions in their knee because of the
 4 underlying chronic injury that occurred
 5 to the anterior cruciate ligament.
 6 Q. Doctor, you saw the history
 7 given to Dr. Boza in this case, didn't
 8 you?
 9 A. Yes, I'd have to look at it.
 10 Q. September 29, 1998, it says,
 11 correct me if I'm wrong, but he's
 12 taking the history directly from Lazo 13
 13 days after the accident in his office
 14 when he writes this note, correct?
 15 MR. TIRA: Objection.
 16 Calls for speculation.
 17 A. Let me find the note. If
 18 you have a copy, I'd be happy to look
 19 at it.
 20 MR. TIRA: I've got a copy
 21 here, Doctor.
 22 THE WITNESS: Thank you.
 23 MR. TIRA: September 29,
 24 1998.
 25 THE WITNESS: Thank you.

Page 141

1 All right. Go ahead.
 2 Q. It says since the injury the
 3 patient states that that he's had
 4 persistent increasing left knee pain
 5 associated with some giving way when he
 6 tries to ambulate. He's been using a
 7 knee brace and this seems to help. He
 8 denies swelling, redness, bruising and
 9 locking. He has not had previous
 10 injury to that knee and states that he
 11 is very active in sports and this has
 12 limited him completely at that time.
 13 That, what I just read,
 14 is the history that Dr. Boza is
 15 obtaining from Lazo in his office more
 16 likely than not, true?
 17 MR. TIRA: Objection. The
 18 record speaks for itself.
 19 A. Right. I mean, that's what
 20 the record notes. That's the history
 21 that apparently was given at the time
 22 based on this note.
 23 Q. Also states he also states
 24 that at the time he had left knee pain,
 25 but they did not evaluate this one and

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
 Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
 www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 142

1 it was not swollen at the time. And
2 he's referring to the hospital after the
3 motor vehicle collision, true?

4 MR. TIRA: Objection,

5 A. That's the history he gave,
6 that it wasn't swollen at that time and
7 the exam at that time notes no
8 significant swelling either.

9 Q. But it says here that he had
10 left knee pain at the time that he was
11 at the hospital; isn't that what note
12 says?

13 MR. TIRA: Objection.

14 A. All you can indicate from
15 that, that's the story he apparently
16 told at that time. That's not
17 supported by the medical records from
18 the ambulance or the emergency room.

19 Q. Well, Doctor, if you take a
20 look at the emergency room record you
21 will note, and tell me, I'm sure you
22 looked over these records very
23 carefully, that there was a bruise noted
24 on the right just below the right knee
25 by the EMS technicians at the scene.

Page 143

1 Is that true?

2 A. Yes, it was noted that he
3 had some right knee complaints and some
4 findings regarding the right knee.
5 There's no complaints regarding the left
6 knee, no findings regarding the left
7 knee, and it's noted he walked out of
8 the emergency room.

9 Q. And you'll note also that
10 the emergency room doctor however did
11 not note the right knee pain anywhere
12 in his records, did he, or the
13 bruising? Not the knee pain, the
14 bruising?

15 A. I have to look at that.

16 MR. TIRA: Objection. The
17 record speaks for itself.

18 Q. While you're looking, Doctor,
19 you also note that the emergency room
20 physician did not note the left bruise
21 at the top of his head, nor did he note
22 the cervical pain that was documented by
23 the nurse in the emergency room and the
24 EMS technicians; is that true, while
25 you're going through that?

Page 144

1 MR. TIRA: Objection,
2 record speaks for itself.

3 A. There's a reference to the
4 complaint regarding the right knee,
5 headache. There doesn't seem -- denies
6 neck pain at that time.

7 Q. Well, the doctor doesn't
8 mention it at all, does he, Doctor? It
9 doesn't say he denies neck pain.
10 Doesn't mention neck pain at all?

11 A. Well, it says -- yes, it
12 does. History states patient was
13 involved in a minor motor vehicle
14 accident. He complains of pain in his
15 right knee and headache. He denies
16 loss of consciousness, neck pain,
17 extremity pain or paresthesia, denies
18 any chest or abdominal pain.

19 Q. I'm sorry, I'm looking under
20 physical examination, Doctor. I'm
21 looking for documentation by this doctor
22 of first of all the bruise to the head
23 that was noted by the EMS technician as
24 well as the nurse, but there's no
25 documentation of it by the doctor in

Page 145

1 physical examination. Is that a correct
2 assessment by me of this record?

3 MR. TIRA: Objection. The
4 part just read apparently was dictated
5 and signed by Dr. Robert Smith, the ER
6 physician.

7 Q. Specifically states, there
8 are no marks, abrasions, bruises noted
9 about the head, doesn't it, Doctor?

10 MR. TIRA: Objection.

11 A. That's what it notes.

12 Q. So it's not impossible for
13 this physician to have overlooked a
14 complaint by Lazo in the emergency room,
15 is it?

16 MR. TIRA: Objection.

17 A. You know, it comes back to
18 the issue that anything is possible, but
19 again with reasonable certainty if he
20 had torn the anterior cruciate ligament
21 at the time of the motor vehicle
22 accident, there would have been acute
23 pain in the knee, acute swelling of the
24 knee, and it would have been very
25 unlikely that would have been missed at

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

1 that time.
2 Q. And, Doctor, in the letter
3 by Dr. Vangelos to whom Lazo was
4 referred by Dr. Boza, September 30,
5 1998, he writes in the history --
6 MR. TIRA: Let him get it
7 please.
8 A. What was the date again
9 please?
10 Q. September 30, 1998.
11 A. Okay. Got it.
12 Q. Thank you. Under history,
13 at that time he gave a history of being
14 in an MVA, motor vehicle accident, on
15 September 16, 1998, at which time he
16 stated that he was hit from the side
17 and his knees were hit into the
18 dashboard and the side door. He states
19 that his right knee is feeling somewhat
20 better although there is still some pain
21 on the inside. He states that his left
22 is still bothering him quite a bit and
23 he can't straighten it.
24 Again, is that
25 documentation by a medical individual of

1 Q. But you chose to disregard
2 all of that in arriving at your
3 opinions; is that an accurate statement?
4 A. No, I didn't disregard it.
5 I considered it, but Dr. Lika also
6 notes in the physical exam at this
7 time, these are his words, surprisingly
8 for an injury that occurred four weeks
9 ago, he has absolutely no swelling.
10 That's not my words, it's Dr. Lika's
11 words. Yeah, that is surprising,
12 doesn't make sense.
13 Q. Dr. Lika has gone on the
14 record through deposition testimony that
15 the injuries that he sustained to the
16 ACL were as a result of the -- direct
17 and proximate result of the motor
18 vehicle collision. Are you aware of
19 that?
20 MR. TIRA: Objection.
21 A. If those are his opinions,
22 then I would disagree with them because
23 the records do not support that the
24 anterior cruciate ligament tear occurred
25 acutely at the time of the motor

1 the history being given by Lazo Devic?
2 A. It's a report of the history
3 that he was told at that time.
4 Q. That he was giving. Okay,
5 that the doctor was receiving from Lazo,
6 correct?
7 A. That is what that would
8 indicate.
9 Q. Now please, Doctor, on to
10 October 14, 1998, Dr. Larry Lika's note.
11 A. I have to find that. Okay.
12 Q. Under history, this is a
13 patient referred to us by Dr. Vangelos.
14 He's a 25-year-old male who was involved
15 in a motor vehicle accident on September
16 16, 1998. Both knees hit the
17 dashboard. He was complaining of
18 chronic left knee pain following the
19 accident. That also is a history being
20 given by Lazo, correct?
21 MR. TIRA: Objection.
22 Record speaks for itself.
23 A. Again, that's the history
24 that was reported at that time
25 apparently.

1 vehicle accident. They don't support
2 that.
3 Q. But you were relying upon
4 the fact that he didn't have symptoms
5 to the EMS -- strike that.
6 Did you note that the EMS
7 technician documented the fact that
8 Lazo's car was T-boned?
9 A. I'd have to look at that
10 record. That sounds familiar. Let me
11 find that record if you're going to ask
12 me about it.
13 Q. While you're looking, Doctor,
14 have you reviewed the deposition of Dr.
15 Lika?
16 A. No.
17 Q. Are you aware of Dr. Lika's
18 affiliation with Horizon Orthopedics,
19 have you ever heard of that group?
20 A. I have.
21 Q. Is that the group that is
22 the medical group that treats the
23 Cleveland Indians?
24 A. They indicate that on their
25 letterhead.

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 150

1 Q. You're not aware of that?
2 A. I don't know Dr. Lika
3 personally.
4 Q. You're not aware of his
5 competency or reputation in this
6 community as an orthopedic surgeon?
7 A. I don't know one way or the
8 other.
9 Q. Do you have any criticisms
10 of him on the work that he did in this
11 case?
12 A. No. Still looking for that.
13 You were asking regarding the EMS
14 report?
15 Q. Yes, that it was T-boned.
16 Were you aware of that?
17 A. It notes T-bone, yes.
18 Q. Okay. Incidentally, Doctor,
19 these people that you examine have all
20 been -- that we've talked about in
21 conducting IMEs, they're not your
22 patients, correct?
23 A. No, they can't be, because
24 in order to form an independent medical
25 exam, the rules indicate that they can't

Page 151

1 be my patients.
2 Q. Have you ever done an
3 examination for Joe Tira? We're not
4 talking about this case, but I mean
5 prior to today, have you ever done that
6 at his request?
7 A. Yes.
8 Q. And you have done multiple
9 examinations for his former law firm,
10 Quandt, Giffels, Buck & Rogers; is that
11 true?
12 A. I think I have done some
13 before for that outfit.
14 Q. And you've done, in fact,
15 other examinations for the lawyers where
16 Mr. Tira is presently employed, Jay
17 Hanson and others, have you not, before
18 today?
19 A. Yes.
20 Q. And you've done actually
21 evaluations at the request of various
22 lawyers throughout town, Denise Wurm, is that true?
23 A. Yes.
24 Q. From a defense firm. The

Page 152

1 defense law firm Keller & Curtin,
2 correct?
3 A. Yes.
4 Q. Terry Conneally?
5 A. I think it's been some time.
6 Q. Sean Pearson, true?
7 A. I think it's been a long
8 time.
9 Q. Thomas Downs?
10 A. Probably a long time ago.
11 Q. Mr. Micelli?
12 A. Some time ago.
13 Q. Ms. Damelio?
14 A. Name is familiar.
15 Q. You've done them for the law
16 firm of Gallagher defense law firm of
17 Gallagher, Sharp, Fulton & Norman,
18 correct?
19 MR. TIRA: Objection.
20 A. At some point.
21 Q. Weston, Hurd --
22 A. At some point in the past.
23 Q. -- Weston, Hurd, Fallon &
24 Paisley, correct?
25 A. Not sure.

Page 153

1 Q. Reminger & Reminger?
2 A. Not sure.
3 Q. The estimate that I am aware
4 of is that you're doing exams for more
5 than 50 lawyers, defense lawyers in
6 greater Cleveland. Does that sound
7 about accurate?
8 MR. TIRA: Objection.
9 A. Not all at one time. Maybe
10 over the years in the past, but I don't
11 know if that's the case or not.
12 Q. Are you telling this jury
13 that you know more about Lazo Devic's
14 injury based on a simple review of
15 medical records without ever having
16 performed a physical examination, no
17 history, and never looking at his x-rays
18 or MRI and certainly never looking at
19 his knee while doing surgery?
20 A. I can tell you that I think
21 I have a very good handle on the
22 important factors in this case because
23 they're laid out in the medical records.
24 They're laid out in the physicians'
25 evaluations and physical exams. And

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D

Page 154

1 I've already told you it wouldn't have
2 mattered if I would have examined him
3 myself. He already went under
4 reconstructive ACL surgery. His exam
5 would have been very different because
6 of the surgery. And that wouldn't have
7 helped in determining opinions regarding
8 this motor vehicle accident.

9 Q. May we agree that arthritic
10 conditions don't get better
11 unfortunately, but they progress?

12 A. In general, arthritic
13 conditions progress at some rate.

14 Q. And you've never had the
15 opportunity to listen to Lazo through
16 the course of many visits over a great
17 period of time, to be in a position to
18 fully evaluate his medical complaints,
19 injuries and the treatment as it
20 progressed over time?

21 A. I've seen the reports of Dr.
22 Lika who did treat him. I reviewed the
23 operative report, findings at that time.
24 I reviewed the medical records that gave
25 a lot of information in regards to his

Page 156

1 EXAMINATION OF
2 TIMOTHY L. GORDON, M.D.
3 BY-MR. TIRA:

4 Q. In your practice have you
5 ever had occasion to serve as a medical
6 expert for an individual pursuing a
7 case, a plaintiff in that case?

8 A. Yes.

9 Q. Okay. Doctor, it's close to
10 2:30. What would you be doing now if
11 you weren't giving this deposition?

12 A. I would be on my way to the
13 hospital.

14 Q. So you charge for your
15 professional time?

16 A. Sure.

17 MR. TIRA: Thank you,
18 Doctor. No further questions.

19 EXAMINATION OF
20 TIMOTHY L. GORDON, M.D.

21 BY-MR. LOUCAS:

22 Q. Doctor, you block out entire
23 half days on your appointment calendar
24 for depositions, don't you?

25 A. It depends. We block out

Page 155

1 condition.

2 Q. You never had the ability to
3 be in a position to render or give
4 hands on care to this fellow; is that a
5 fair statement, Doctor?

6 A. As I've already told you, I
7 can't have been a treating physician for
8 him and then performed an independent
9 medical exam. It's against the rules.

10 Q. Doctor, I mean you've never
11 even given hands on care by taking a
12 look at this fellow to give your
13 opinions in this case, true?

14 A. I've already told you,
15 examining him, physically examining him
16 wouldn't have made any difference
17 because he had already undergone an ACL
18 reconstruction. So his knee exam would
19 have been very different because of his
20 surgery as opposed to shortly after the
21 accident.

22 MR. LOUCAS: I have no
23 further questions.

24 MR. TIRA: Just a couple,
25 Doctor.

Page 157

1 time.

2 Q. Generally speaking, Doctor,
3 we've just been through your calendars
4 this morning and what we have found is
5 that when your office schedules you for
6 deposition, you block out the entire
7 afternoon; is that fair?

8 A. We block out a chunk of time
9 for this. Hopefully it won't last too
10 long. And the schedulers who make out
11 the appointment book don't know if I
12 have to go to the hospital or not. So
13 whenever we're done with this, that's
14 where I'm going to go.

15 Q. So, Doctor, you've made
16 2,700 bucks, haven't you?

17 A. Well, I'm going to charge
18 for the time that you have asked me
19 questions. As I told you from the get
20 go, you know, I've sat here patiently
21 and answered your questions. And yes,
22 I'm going to charge for that. It's
23 based on all the questions you decided
24 to ask. My time is valuable. So, yes,
25 I charge for my time.

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 158

1 Q. And, Doctor, you won't be
2 charging me. You'll be charging Mr.
3 Tira, but under cross-examination I'm
4 permitted to ask you questions as long
5 as necessary.
6 Be that as it may, that
7 means the total money you've made from
8 this case exceeds \$3,000 so far,
9 correct?

10 MR. TIRA: Objection to
11 the characterization.

12 A. Look, I've already told you,
13 I sat here patiently and answered your
14 questions. You asked a lot of
15 questions. You took up a big chunk of
16 this time and I answered your questions.
17 And yes, I'm going to charge for that.
18 That's based on all the time you took
19 up from me.

20 MR. LOUCAS: Objection,
21 move to strike. Nonresponsive.

22 Q. Doctor, the question was in
23 addition to the time that you have
24 billed today for \$2,700 and the \$700
25 that I saw as billed previously, you've

Page 160

1 will charge for that. If that was
2 three hours, I would charge \$2,700 for
3 that. A very large chunk of that time,
4 the majority of that time is you asking
5 questions. So you determined how long
6 that went. And of course I'll charge
7 from that. You knew that from the get
8 go. So based on what you knew my
9 charges were, I told you that from the
10 beginning, there's no secrets there, as
11 long as you ask me questions, I'll
12 answer them. And I've done that. So
13 that would be what I would charge for
14 three hours of time.

15 Q. Okay, Doctor. I'm sorry,
16 but I'm not going to be paying these
17 bills. Ms. Marciano's lawyer is going
18 to pay them for you. But what I am
19 asking is, let's say we started at 12.
20 It's 2:35. So let's call it two and a
21 half hours. And then you put a half
22 hour in with Mr. Tira beforehand. So
23 that's three hours today, fair enough?

24 A. I've already told you, three
25 hours today based on you asking two

Page 159

1 charged so far in excess of \$3,000.
2 Can you answer that question directly?

3 A. Number one, I haven't --
4 MR. TIRA: Objection.
5 Asked and answered.

6 A. I haven't charged for this
7 time yet. The basis of my charges is
8 the time. And I've already told you,
9 this is direct result of how many
10 questions you've decided to ask me, a
11 very long period of time for questions.
12 Of course I'm going to charge for that.
13 I've already told you that.

14 Q. I'm sorry, you're still not
15 answering. Doctor, are your charges
16 going to be as of today in excess of
17 \$3,000? One of us will finally get an
18 answer before we leave.

19 MR. TIRA: Objection,
20 asked and answered. Objection to the
21 characterization of the question.

22 A. All right. I told you
23 already that I charge \$900 an hour for
24 deposition time. You've decided to take
25 up a long chunk of my time. So yes, I

Page 161

1 hours of questions. So sure I'm going
2 to charge for that. I told you from
3 the beginning.

4 Q. I'm sorry, Doctor, I just
5 want an answer to the question.

6 A. I am answering the question.

7 Q. Sir, let me finish. 2,700
8 for that. And is this the only other
9 bill that you have for 700 on this
10 case?

11 A. I've already told you as far
12 as I'm aware that's what the bill was.

13 Q. So that's 33. So the answer
14 is yes, at this point in time there
15 will have been over 3,000 in charges
16 for your defense medical examination of
17 Lazo Devic, true?

18 MR. TIRA: Objection.

19 A. 700 for the evaluation and
20 report and then the rest of the balance
21 of the charges is based on the time
22 that I have been sitting here answering
23 questions. And as I've indicated, you
24 know, the big chunk of that was your
25 asking questions. So all that together

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

DEPOSITION OF TIMOTHY L. GORDON, M.D.

Page 162

1 would equal that.

2 Q. Doctor, I'm sorry, I forgot
3 the \$900 cancellation fee. So that's
4 4,100 you've made in this case, isn't
5 it?

6 MR. TIRA: Objection.

7 A. We've already been through
8 this already. You scheduled a big
9 chunk of time for me to go through,
10 what, last week and then you cancel it
11 at the last minute. So of course I'm
12 going to charge you for that.

13 Q. Okay. You would agree with
14 me more likely than not your charges in
15 this case to date will be in excess of
16 \$4,000, sir?

17 MR. TIRA: Objection.

18 A. Again if you go through the
19 numbers of what I have told you from
20 the get go, based on decisions you've
21 made, that I'm going to charge those
22 amounts.

23 Q. Doctor, how much time have
24 you put into it to charge that \$700?
25 Is it reflected on that statement?

Page 164

1 x-rays in this case or MRI films. Is
2 that all right if I define real tests
3 like that, Doctor, with you?

4 A. No I think the better way to
5 say it would be actual studies. I
6 reviewed the interpretations of those
7 studies as I've already said.

8 Q. But you didn't review the
9 films, correct, Doctor?

10 A. That's correct.

11 Q. Okay. So the 700 bucks you
12 charged was just for records. That was
13 my original question. You'd agree with
14 me?

15 MR. TIRA: Objection,
16 asked and answered.

17 Q. Is that yes or no, Doctor?

18 A. I told you it's not just
19 reviewing the records. I review the
20 records, I think about the records, I
21 generate a report, I think about the
22 report and I review the report. That's
23 a long time.

24 MR. LOUCAS: I have no
25 further questions.

Page 163

1 A. No.

2 Q. So how much time does that
3 \$700 represent?

4 A. I don't recall how much time
5 it took to do the evaluation and
6 generate that report.

7 Q. Well, you didn't do an
8 examination or take a history or look
9 at x-rays, so you charged \$700 just to
10 look at records in this case, true?

11 A. I charged \$700 to review the
12 records, think about the records, think
13 about the report, dictate the report,
14 and review the report.

15 Q. Without doing a physical
16 examination, a history or reviewing real
17 tests of his knee, Lazo's knee, true?

18 MR. TIRA: Objection.

19 A. Yeah, I don't think you can
20 say real test. I reviewed
21 interpretations and I reviewed the
22 records made available and I generated a
23 report as we've already talked about.

24 Q. I'm sorry, my definition of
25 real tests are actual or copies of

Page 165

1 MR. TIRA: Thank you,
2 Doctor.

3 VIDEO TECHNICIAN: Going
4 off the record at 2:38.

5 MR. TIRA: Doctor, you
6 have the right to read the transcript
7 and view the videotape or you may waive
8 those rights.

9 THE WITNESS: I'll waive
10 it. Thank you.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

☎ 800.694.4787

CEFARATTI
GROUP A Litigation
Support Company

FAX 216.687.0973

Court Reporting, Investigations and Comprehensive Services for Legal Professionals

600 Superior Avenue East, Bank One Center, 24th Floor, Cleveland, Ohio 44114-2650
www.cefgroup.com

TRANSACTIONS

INQUIRY

(UPD)

ACCOUNT: 16806 JOSEPH C GULLO COLL: 0.08 ACCOUNT TOTAL: 0.00
PERS: 0.00 INS: 0.00

CHG-#	CTRL	PATIENT	LOC	TRNCODE	7MO	DESCRIPTION	INS	HISTORY	AMOUNT	D
			POS	DX		SET# NAME		#		
65289	100	LAZO	04	116/NC		REVIEW OF RE	/PS		700.00	0
			OFF1			0			0	
65289	100	PAYMNT		COMM		COMMERCIAL I	/PS		700.00	0
		TOTAL				DUE FROM			700.00	0

TRANSACTION TOTALS: CHGS: 700.86 PAYS: 700.00 ADJS:

INS SET 0 TOTALS: CHGS: 700.80 PAYS: 700.00 ADJS:

PROV GRP TOT: CHGS: 700.00 PAYS: 700.00 ADJS:

ENTER (M)ORE, (P)REVIOUS OR A SPECIFIC CHARGE NUMBER FOR DETAIL:

F5=BILLING F6=PATIENT F7=TRANSACTIONS F8=ANOTHER ACC
F9=PATIENT NOTES F10=PRINT INQ

PRACTICE:upd OPERATOR:MARIE 04/09/01 09:16:08





Robert C. Corn, M.D., F.A.C.S.
Timothy L. Cordon, M.D.
Orthopaedic Surgeons

June 6, 2000



Mr. Joseph C. Gullo
Claims Representative
Progressive Insurance Company
5595 Transportation Blvd, Suite 210
Garfield Heights, OH 44125-5325

RE: Lazo Devic
Date of Accident: 9/16/98
Claim No. 98-1983220

Dear Mr. Gullo:

I reviewed medical records regarding Lazo Devic who **was** involved in a motor vehicle accident on September 16, 1998. He is a 25 year old male. An Ohio Traffic Crash report indicates Mr. Lazo **was** driving an automobile **that** was struck in the left rear corner by the front of a van in a parking lot.

EMS records indicate complaints included **right** knee area

Bedford Medical Center Emergency Room record of September 16, 1998 noted complaints included the **right knee area**. There was no indication of left knee area complaints. Exam noted localized tenderness over the medial aspect of the proximal right lower leg. X-rays of the **right** tib-fib area **were** negative. Diagnosis was leg contusion.

Office note of Dr. Brian Bozza September 29, 1998 noted a report of left knee pain, history of motor vehicle accident September 16, 1998. Notes he states at the time he had right knee pain and x-ray **was** negative. He also states that at the time, he had left knee pain but they did not evaluate this one and it **was** not swollen at the time. Since

the injury the patient states he has had persistent increasing left knee **pain associated with some giving way when he tries** to ambulate. He has been using a knee **brace** and **it seems to help**. **He denies swelling. Denied previous injury of that knee.**

Exam noted right knee is normal, left knee demonstrates similar decreased range of motion in flexion and extension. He cannot flex or extend. There is no gross effusion. Noted ACL is somewhat lax compared to the right side.

He was subsequently referred to Dr. Vangelos who evaluated him September 30, 1998 and ordered an MRI scan of the left knee. This was obtained October 8, 1998. He was subsequently referred to Dr. Lika, orthopaedic surgeon, who evaluated him October 14, 1998. His report notes Dr. Vangelos **states that he** is a 25-year-old male **who was involved in a motor vehicular accident on September 16, 1998.** Both knees **hit the dashboard and he** was complaining of chronic knee pain. Further notes MRI scan noted **findings** included anterior cruciate ligament **tear.** Dr. Lika noted since he **was completely** asymptomatic he would not recommend anterior cruciate ligament reconstruction.

Subsequently records of Dr. Lika indicate Mr. Lazo underwent arthroscopic surgery of the left knee December 23, 1999 for left knee Grade III anterior **cruciate** ligament **insufficiency.** He was noted to **have** instability of the left knee. The arthroscopic examination noted the medial and lateral compartments to be normal. **Complete** Grade III tear of the anterior cruciate ligament. He underwent arthroscopic assisted ACL reconstruction.


The medical records I have reviewed are not convincing of a causal relationship of the subsequently diagnosed anterior **cruciate** ligament tear of the left knee and the motor vehicle accident **September 16, 1998.** The injury described **would not be a common** mechanism of injury for causing a **tear of the anterior cruciate ligament.** It would **have been expected that** had the anterior **cruciate ligament tear** occurred at the **time of the motor vehicle accident that injury to the left knee would have been acutely apparent in the emergency room.** **There were no left knee complaints noted at that time.** He was noted to be discharged ambulatory from the emergency room.

Lazo Devic, Page 3
Claim No. 98-1983220

Anterior **cruciate** ligament **tears** can commonly occur as a result of **sports activities**. He **had given a history of being active in sports**.

Based on **the records** I have reviewed it **is my opinion** to within a **reasonable degree** of medical **certainty** that **the subsequent diagnosis** of anterior **cruciate** ligament **tear** of **the left knee and subsequent reconstructive surgery** was most likely not **causally related to the motor vehicle accident of September 16, 1998**.

Sincerely,


A handwritten signature in cursive script, appearing to read "J. Gordon".

Timothy L. Gordon, MD

TLG/bn

Cc:File

George Loucas, Esq.
May 4, 2001
Page 2



Category	Time Period	IMEs	
Number Performed	October 1998 - December 1999	124	34
	January 2000 - December 2000	89	29
	January 2001 - March 2001	24	3
Range of Charges	October 1998 - December 2000	\$500 to \$2,000 (approx.)	\$900 per hour charged to plaintiffs and defendants irrespective of who retained the doctor

In addition, pursuant to the agreed protective order, we will make Dr. Gordon's appointment books (from January 1999 to present) available to you at Dr. Gordon's office. As discussed, the appointment books will be made available 1 hour before the deposition of Dr. Gordon on May 7, 2001. Pursuant to our agreement to abide by the terms of the agreed order, the appointment books will be made available prior to entry of the protective order by Judge Boyle. The agreed order is attached for your signature. Please sign the Order and return it to me so that I can sign it on behalf of Highland and have it filed with the Court.

Pursuant to our agreement the above information, in addition to the information we previously provided in our April 9, 2001 correspondence, will constitute full compliance with the referenced subpoenas. We appreciate your assistance in bringing this matter to an amicable resolution. If you have any questions, please feel free to contact me.

Sincerely yours,


Colin R. Jennings

CRJ/acb
cc: Joe Tira, Esq.
Enclosure

In response to your May 4, 2001 fax -
the chart regarding Lazo Deic maintained
by Highland will be available during deposition,
including Highland invoices related to Mr. Deic.
on May 10, 2001 letter -