

1 State of Ohio,)
 2 County of Cuyahoga.)

- - -

3
 4 IN THE COURT OF COMMON PLEAS

- - -

5
 6 PATTY DOLL, et al.,)
 7 Plaintiffs,) Case No. 297,828
 8 vs.)

9 UNIVERSITY HOSPITALS OF) Judge Kilbane-Koch
 10 CLEVELAND, et al.,)
 11 Defendants.)

- - -

12 DEPOSITION OF MICHAEL THOMAS GYVES, M.D.
 13 Thursday, September 5, 1996

- - -

14
 15 The deposition of MICHAEL THOMAS GYVES, M.D.,
 16 a Defendant herein, called for examination by the
 17 Plaintiffs under the Ohio Rules of Civil
 18 Procedure, taken before me, Diane M. Stevenson, a
 19 Registered Merit Reporter and Notary Public in
 20 and for the state of Ohio, by agreement of
 21 counsel, at the offices of Jacobson, Maynard,
 22 Tuschman & Kalur, 1001 Lakeside Avenue, Suite
 23 1600, Cleveland, Ohio, commencing at 9:40 a.m.,
 24 the day and date above set forth.

25 - - -

Diane M. Stevenson, RMR
 Morse, Gantvesq & Hodge

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Howard D. Mishkind, Esq.
4 Becker & Mishkind Co., LPA
5 Skylight Office Tower
6 1660 West 2nd Street, Suite 660
7 Cleveland, Ohio 441138 On behalf of the Defendant,
9 University Hospitals of Cleveland:10 George M. Moscarino, Esq.
11 Arter & Hadden
12 1100 Huntington Building
13 Cleveland, Ohio 44114

14 On behalf of the Defendant, Dr. Gyves:

15 Stephen S. Crandall, Esq.
16 Jacobson, Maynard, Tuschman & Kalur
17 1001 Lakeside Avenue, Suite 1600
18 Cleveland, Ohio 44114

19 ALSO PRESENT:

20 Shirley Feigenbaum,
21 University Hospitals

22 - - -

23 Diane M. Stevenson, RMR
24 Morse, Gantverq & Hodge
25

MICHAEL THOMAS GYVES, M.D.

A Defendant herein, called for examination by the Plaintiffs, under the Rules, having been first duly sworn, as hereinafter certified, was examined and testified as follows:

CROSS-EXAMINATION

BY MR. MISHKIND:

Q. Would you please state your name for the record.

A. Michael T. Gyves, G Y V E S.

(Thereupon, Plaintiffs' Exhibit 1 was marked for identification.)

Q. Doctor, I have marked, prior to the beginning of the deposition, a document with an exhibit sticker that says Plaintiffs' Deposition Exhibit No. 1. It is an 11-page document.

I am told by your attorney that this is a copy of your curriculum vitae. Would you take a look at it and verify it for the record?

A. That is my curriculum vitae.

Q. Is that your curriculum vitae?

A. That is my curriculum vitae. There is one item to be updated, and that is that upon my resignation as department director at St. Luke's, my faculty appointment at the medical school was changed to Associate Clinical Professor of

1 OB/GYN. So that occurred sometime within the
2 last year.

3 Q. You are no longer Director of Obstetrics at
4 St. Luke's?

5 A. Correct, I am no longer the Director of the
6 Department of Obstetrics and Gynecology at
7 St. Luke's. It indicates, I believe on there,
8 1984 to 1995. I stepped down from that position
9 at the end of June, 1995.

10 Q. Actually, the copy I have on page two says 1984
11 to 1996.

12 A. That is as Director of the Residency Program. As
13 department director, I stepped down in 1995. I
14 continued as Director of the Residency Program
15 until 1996.

16 Q. Do you have a more current one in front of you
17 now, or is that --

18 MR. CRANDALL: No, this is the
19 same one.

20 A. This is the same one. There is only that one
21 change from Associate Professor to Associate
22 Clinical Professor. And I think that change
23 occurred at the last reappointment to the
24 clinical school faculty.

25 Q. What is the reason that you stepped down as

1 Director of the Department of Obstetrics and
2 Gynecology?

3 A. I had been doing the job for 11 years, and I
4 wanted to devote more of my time to private
5 practice.

6 Q. So it was a decision that you made, a voluntary
7 decision?

8 A. Yes.

9 Q. Does the curriculum vitae cover all of your
10 writings in books, bibliography, abstracts, or
11 are there any additions that need to be made to
12 that, as well?

13 A. There are no additions that need to be made.

14 Q. At the time that you were treating Patty, were
15 you affiliated with a medical corporation?

16 A. I was.

17 Q. What was that?

18 A. Partners in Women's Healthcare, Incorporated.

19 Q. You were president of that corporation?

20 A. I was.

21 Q. Were there other physicians practicing within
22 that medical corporation?

23 A. Yes. Do you want to know who they were?

24 Q. I didn't know whether you were going to tell me
25 that voluntarily, or whether that was going to be

1 my next question. But yes, I would like to know.

2 A. Dr. Judith Evans was a member of the corporation
3 at the time, and I think that is all. I had
4 another partner prior to that, and I think he
5 left the corporation in March of 1994. Yes.

6 Q. Who was that?

7 A. That was Dr. Robert Edwards. So I don't believe
8 he was still a member of the corporation at this
9 time. He may have been a member when the
10 prenatal care started, but left the corporation
11 in the interim.

12 Q. Certainly at that time of the cesarean section,
13 he was no longer a member of the corporation?

14 A. I think that's correct.

15 Q. At the time that you were handling the late
16 stages, if you will, of Patty's pregnancy, and
17 the cesarean section, and then the admission to
18 St. Luke's at the time that the laparotomy pad
19 was discovered, were you an employee of that
20 corporation?

21 A. I think I would be considered that, yes.

22 Q. My understanding from some written discovery that
23 has been provided, that corporation has ceased
24 doing business?

25 A. Yes.

1 Q. When did it stop doing business?

2 A. At the end of March, **1995**.

3 Q. Are you affiliated with a medical professional
4 corporation now?

5 A. I am. I am now employed by Outreach Professional
6 Services, Incorporated.

7 Q. I suppose, just to save some time, can you
8 explain to me why the first corporation ceased
9 doing business and how you became affiliated with
10 this current corporation?

11 A. That was strictly a business decision. In the
12 original private practice corporation, I was
13 being pressured more and more by managed care and
14 finding it more and more difficult to continue
15 the business of practicing medicine. And so I
16 turned over the private practice to Outreach
17 Professional Services, Incorporated, which we
18 refer to as OPSI, and OPSI is essentially a
19 management corporation which purchased the
20 practice and its assets.

21 And I now function as an employee of OPSI in
22 the same role that I had before in the private
23 practice. That is the same practice continues,
24 but with different ownership and management.

25 Q. Do you have other obstetricians that are

1 colleagues of yours within that corporation?

2 A. Yes. Since joining the corporation, I was able
3 to add a partner to my own practice within the
4 corporation, hire two additional midwives to work
5 in the practice because the management corpora-
6 tion had the working capital to be able to add
7 providers, so that changed the scope, the quality
8 of the practice from working as a solo physician
9 to working as a member of the larger group.

10 There are also two other OB/GYN practices
11 within OPSI, in addition to a number of practices
12 in internal medicine, family practice, and
13 pediatrics.

14 Q. All that use OPSI in the same manner that you use
15 OPSI, for the management aspects?

16 A. All of these practices are OPSI practices, and
17 OPSI owns and runs them.

18 Q. Within your practice currently, not the other
19 obstetrical practice, but just for informational
20 purposes, the other obstetrician that you have
21 been able to hire and the midwives that are
22 affiliated with your practice, tell me their
23 names, please.

24 A. The other physician is Dr. Sam Liu, L I U. The
25 midwives in the practice are Ellen Margles,

1 M A R G L E S - - and Ellen Margles was an
2 employee of the previous corporation. She is a
3 midwife. Two other midwives are Judy Nusa,
4 N U S A, and Carolyn Moes, M O E S. Judy Evans
5 is no longer a member of the practice. She left
6 the employment of OPSI a few months ago.

7 Q. Where is she practicing now?

8 A. In another OB/GYN practice in Cleveland.

9 Q. The other OB/GYN practice that is owned by OPSI,
10 how many obstetricians are in that group?

11 A. There is one practice with four obstetricians,
12 and another practice with a solo obstetrician.

13 Q. Your office itself is located in Solon?

14 A. I have two offices. The main office is in
15 Cleveland at 11201 Shaker Boulevard, and the
16 satellite office is in Solon. The address there
17 is, I believe, 33001 Solon Road.

18 Q. When you were with your previous corporation, was
19 your office on Shaker Boulevard?

20 A. I had the same offices in the previous
21 corporation.

22 Q. The other professional corporation, the other two
23 OB/GYN practices, again, just for information
24 purposes -- and we are going to start talking
25 about more important stuff -- who are the doctors

1 that are affiliated with or which are owned by
2 OPSI, the obstetrical practice, the one that has
3 four and the one that has just the one?

4 A. The one with four consists of Dr. John Rozsa,
5 Dr. Shelly Amuh, A M U H, Dr. Andrea Price, and
6 Dr. John Abu, A B U.

7 The solo practitioner in the third practice
8 is Dr. Penola Jones.

9 Q. While Patty was being seen by you for prenatal
10 care, and at the time of the cesarean, were any
11 other obstetricians employed by your professional
12 corporation involved in her care either
13 prenatally or at the time of the cesarean?

14 A. There were no obstetricians involved in her care,
15 either prenatally or at the time of the
16 cesarean. My midwife, Ellen Margles, saw her for
17 one emergency visit in the office early in the
18 pregnancy.

19 Q. Were any midwives that were or are employed by
20 your professional corporation involved at the
21 time of the cesarean section?

22 A No.

23 Q. There was a resident that participated in the
24 cesarean section by the name of Dr. Samudio?

25 A. Yes, sir.

1 Q. Had you worked with Dr. Samudio prior to Patty's
2 cesarean section?

3 A. Yes.

4 Q. Could you explain to me what your relationship
5 was at the time that the C. section was done as
6 it relates to Dr. Samudio?

7 A. Dr. Samudio at that time was a resident in
8 training in OB/GYN at University Hospitals, and
9 she still is a resident there.

10 Residents function as assistants to private
11 physicians in the care of private patients. And
12 that involves both surgical and nonsurgical care
13 of private patients.

14 So Dr. Samudio was assisting me in the
15 cesarean section as a first assistant would
16 assist in any surgical procedure. I was
17 functioning with her as a supervisor and
18 teacher.

19 Q. What year was she in her residency at the time of
20 the C. section?

21 A. She would have been a second-year resident at
22 that time.

23 Q. The actual physical performance of the C. section
24 itself, was that done by Dr. Samudio, or by you?

25 A. That was done by both of us. We both perform

1 roles in that. Both of us are involved in making
2 incisions and in sewing, so it is a combined
3 effort.

4 Q. I take it you have had a chance to look over your
5 records concerning your prenatal care and the
6 delivery of Patty, correct?

7 A. Yes.

8 Q. Can you tell by looking at the operative report
9 or from any independent recollection that you
10 might have as to specifically what you did at the
11 time of the C. section and what Dr. Samudio did
12 from the beginning of the induction all the way
13 to the conclusion of the case?

14 A. I can't tell you specifically who did what, no,
15 not from the -- no.

16 Q. Either from the record or from what you can
17 recall, "I remember I did the initial incision.
18 Dr. So and So did the following, I then did
19 this," is there any way that you can tell me at
20 what stages you were involved actually doing a
21 particular procedure as opposed to either
22 supervised her or doing some other aspect of the
23 cesarean?

24 A. I cannot tell you that from the operative note.
25 I can tell you from the way we usually do it.

1 Q. I am going to have you do that in a moment. Does
2 the amount of responsibility for the actual
3 performance of the C. section depend upon the
4 experience of the resident?

5 A. Yes.

6 Q. Do you know how experienced Dr. Samudio was in
7 terms of performing C. sections at the time that
8 she served as a second-year resident under you?

9 A. I cannot tell you how many cesareans she had done
10 prior to that. I feel quite sure that I had done
11 cesareans with her previously, and that I was
12 comfortable with her technical ability.

13 Q. You felt that, as a second-year resident, she was
14 a competent physician?

15 A. Yes. Dr. Samudio actually had more than one year
16 of training prior to being a second-year resident
17 in that she had been trained as a family
18 practitioner. She, I believe, had completed a
19 family practice residency and was now getting
20 additional training in obstetrics and gynecology.

21 Q. Had you at any time while she was working as a
22 resident under your watch, so to speak, or with
23 you as the attending, had you ever experienced
24 any type of an untoward outcome or a complication
25 where she was either a first-year resident or

1 second-year resident in obstetrics?

2 MR. MOSCARINO: Objection.

3 MR. CRANDALL: Objection.

4 Q. Steve likes to object silently with just a hand
5 rather than the vocal.

6 You can go ahead. The objections, unless
7 Mr. Crandall instructs you otherwise, are just
8 for the record.

9 A. I cannot recall having had any patients with whom
10 I was supervising Dr. Samudio who had any adverse
11 outcomes prior to this.

12 Q. Let me back up for a moment, Doctor, and ask you
13 whether you have ever given a deposition before.

14 A. Yes.

15 Q. On how many occasions?

16 A. I can't tell you the precise number. I would say
17 it is somewhere between 10 and 20.

18 Q. Have any of those cases where you have given
19 deposition testimony been cases where you were
20 named as a defendant in a medical malpractice
21 case?

22 A. Yes.

23 Q. How many?

24 A. I think there were two.

25 Q. Two depositions?

1 A. Two depositions.

2 Q. Where you were a defendant?

3 A. Two depositions in which I was named as a
4 defendant.

5 Q. This is now the third case where you have been
6 deposed as a defendant?

7 A. To the best of my recollection, that's correct.

8 Q. Are either of those two cases, to the best of
9 your knowledge, still pending?

10 A. No. I was dismissed from both of those cases.

11 Q. Were they both, the other two, both in Cuyahoga
12 County?

13 A. Yes.

14 Q. What was the name of the plaintiff in the other
15 two cases?

16 A. The first was Cheryl Hutchins.

17 MR. CRANDALL: So that I don't
18 interrupt the rest, just a continuing line of
19 objection to the questions regarding any prior
20 lawsuits.

21 Q. I am sorry, Hutchins?

22 A. Hutchins, H U T C H I N S, first name Cheryl.
23 The second was Diane Potts, P O T T S.

24 Q. Did either of those prior cases involve any type
25 of complication at the time of a cesarean

1 section?

2 A. That's a rather broad question. One of them
3 involved a bad outcome, a bad baby as a result of
4 -- not as a result of -- the baby was delivered
5 by cesarean section by one of my associates.

6 Q. Which of the two?

7 A. That was the Hutchins case.

8 Q. What was the subject matter of the Potts case?

9 A. That was an anesthesia complication.

10 Q. What type of surgery were you performing?

11 A. I was not performing. That was a delivery
12 performed by my partner, and there was a
13 complication of epidural anesthesia, but
14 Ms. Potts had been my patient throughout the
15 pregnancy, and I was named in the suit.

16 The same is true of Cheryl Hutchins. My
17 involvement with her was early in the pregnancy,
18 but I was named in the suit.

19 Q. I take it neither of those cases involved any
20 type of a retained surgical sponge at the time of
21 cesarean section?

22 A. That's correct.

23 Q. You would certainly agree, and I think you have
24 already done so, that a laparotomy pad or, as is
25 referred to by the hospital, I think M-tape may

1 be the reference in certain policies from the
2 hospital, was left in Patty at the time that the
3 cesarean section was concluded, correct?

4 A. Yes.

5 Q. And that is something that shouldn't be done,
6 correct?

7 A. Correct.

8 Q. Have you ever been a participant in a surgery,
9 whether it be C. section or any other type of
10 obstetrical or gynecological procedure, where a
11 retained laparotomy pad or other foreign body was
12 left in that should not have been left in at the
13 time that the surgery was completed?

14 MR. CRANDALL: Objection.

15 MR. MOSCARINO: Objection.

16 A. Not that I can recall, or, I should say, not that
17 I know of. When you say "ever," it goes back to
18 the days of my training, and I am not aware of
19 any such case.

20 Q. Is it fair to say that this is the first one
21 that, at the very least, has been brought to your
22 attention, to the extent that one did occur? As
23 you sit here now, you don't have any knowledge of
24 same?

25 A. Yes.

1 Q. The other 8 to 18 cases where you have been
2 deposed, in what capacity were you testifying?

3 A. I was an expert witness.

4 Q. In medical malpractice issues?

5 A. Yes, yes.

6 Q. So you are certainly familiar with the issues of
7 standard of care in medical malpractice cases?

8 A. Yes.

9 Q. Certainly as it relates to the area of obstetrics
10 and gynecology, you are familiar with what is
11 considered to be acceptable care and what is
12 considered to be unacceptable care?

13 A. That is generally correct.

14 Q. In those other cases, was there a predominance in
15 your appearing as the expert for the physician as
16 opposed to for the patient?

17 A. Yes. The breakdown is approximately 80 percent
18 as expert for the physician, and approximately 20
19 percent I was expert for the patient.

20 Q. Do you regularly review cases where you aren't
21 necessarily deposed, but that you write reports
22 to an attorney for one side or the other in
23 medical malpractice cases?

24 MR. CRANDALL: I need to object
25 for the record. Go ahead.

1 A. I have done that in the past. I stopped doing it
2 in the last few years because I simply don't have
3 the time for it.

4 Q. Again, when you have done that, has it been about
5 the same percentage, 80 percent reviewing and
6 writing reports in connection with the doctor's
7 case, and 20 percent in connection with the
8 patient's case?

9 A. In cases that I have reviewed but for which I
10 have not been deposed, I believe there is a
11 larger percentage of reviews for the plaintiffs,
12 but I can't give you a specific breakdown.

13 Q. Are you currently scheduled to testify as an
14 expert witness in any cases?

15 A. I am involved as an expert witness in a case that
16 is supposed to come to trial in February. I say
17 supposed to because it has been postponed a
18 number of times.

19 Q. That never happens.

20 You have been deposed in that case?

21 A. I don't recall. It has been so long that I
22 honestly can't remember the current status of the
23 case. It is something that has been on the shelf
24 for a couple of years.

25 Q. Are you defendant's expert or plaintiff's expert

1 in the case?

2 A. I don't even remember that.

3 Q. That is the only case that you are currently
4 scheduled to appear as an expert, that you know
5 of?

6 A. That is the only one I know of. I think there
7 may be one or two other cases that have been
8 lying foul for two or three or four years, and
9 could crop up at any time.

10 Q. What is the name of the attorney that you are
11 working for in connection with the case that is
12 scheduled for trial?

13 A. I honestly can't remember the details of any of
14 these. They are so old, from my perspective,
15 they are inactive right now.

16 Q. You have reviewed your office records which
17 contain, presumably, parts of the records from
18 University, and then records from St. Luke's?

19 A. Yes.

20 Q. Dr. Lerner has also I think from time to time
21 carboned you on office notes when he has seen
22 Patty, and that, I presume is also in your file?

23 A. Yes.

24 Q. Are you still being carboned by Dr. Lerner with
25 regard to office visits?

1 A. I don't know what you mean by "still." I would
2 have to -- I can check my records and tell you
3 the date of the last communication I received by
4 him.

5 Q. Please.

6 A. My last communication from Dr. Lerner is dated
7 August 11, 1995.

8 Q. Have you had occasion to talk to Dr. Lerner
9 personally about Patty's condition since Patty
10 was discharged from St. Luke's Hospital?

11 A. Yes.

12 Q. When is the last time that you talked to
13 Dr. Lerner?

14 A. I can't recall specifically, but I believe it was
15 approximately six months ago.

16 Q. That would be obviously more current than your
17 last correspondence from him?

18 A. I think that is correct.

19 Q. Tell me what you remember in terms of the
20 substance of that conversation, perhaps starting
21 by telling me where it took place and what doctor
22 Lerner told you, and what, if anything, you
23 indicated to him.

24 A. It took place as a casual exchange in the hallway
25 of the hospital where I simply asked about her

1 current status, and he told me that she was doing
2 well, and that is in very general terms, because
3 I can't remember the specifics of the conversation.

4 Q. Do you remember anything more than just what you
5 told me just a moment ago that he indicated to
6 you? Again, the only reason I am asking you is
7 because today is my opportunity to find out from
8 you what you know and what conversations you
9 might have had with some of the treating doctors.

10 So if there is anything else that Dr. Lerner
11 said to you during that conversation, either
12 specifically or in general, even though you can't
13 remember verbatim, if you can remember the topic
14 or synopsis of what he told you, I want you to
15 tell me that.

16 A. At some point in one of our casual conversations,
17 and I don't know if it was the last one or the
18 one before that, we discussed the issue of what
19 might have been responsible for Mrs. Doll's
20 stroke, and were both of the opinion that we
21 didn't know. That is, I mentioned to him that I
22 still had no idea what caused her stroke, and he
23 said he had no explanation for the stroke.

24 Q. And as you sit here right now, do you still not
25 have an opinion to a reasonable degree of medical

1 probability as to what caused the stroke?

2 A. That's correct.

3 Q. And it is your understanding from an informal
4 conversation with Dr. Lerner that he indicated,
5 perhaps not in the same manner and to a
6 reasonable degree of medical probability, because
7 that usually is used by lawyers as opposed to
8 doctors, but he indicated in general that he did
9 not know specifically what caused her stroke?

10 A. That's correct.

11 Q. These casual conversations, how long did they
12 last? Were they just a couple minutes?

13 A. A few minutes.

14 Q. Did you ever sit down and go over with Dr. Lerner
15 the various possibilities that could explain why
16 this woman did, in fact, suffer a stroke and try
17 to determine what was the most likely explanation?

18 MR. MOSCARINO: Objection. I am
19 just objecting to the term "possibilities."

20 MR. CRANDALL: Wait one second.
21 Do you mean within these conversations, or are
22 you asking him now a different question, which is
23 did he ever sit down and have a conversation
24 about her after the discharge?

25 MR. MISHKIND: Sit down with who?

1 MR. CRANDALL: Dr. Lerner.

2 Q. At any time with Dr. Lerner, have you ever sat
3 down and reviewed, in terms of a differential,
4 what are the various possibilities and tried to
5 come up with an opinion as to what was the most
6 likely cause?

7 MR. CRANDALL: Since her
8 discharge, Howard?

9 MR. MISHKIND: That is a fair
10 question. Yes, since her discharge.

11 MR. MOSCARINO: Same objection.

12 A. I can remember doing that while Mrs. Doll was in
13 the hospital. At that time of course I was
14 seeing Dr. Lerner and talking with Dr. Lerner
15 very frequently.

16 I can't recall if we ever did such after
17 Mrs. Doll's discharge from the hospital. I think
18 at some point we may have talked about it, but
19 there was no formal medical type consultation
20 where I might have met him in his office or he
21 may have come to my office, where we sat down and
22 actually reviewed step by step the medical events
23 and the differential. Again, we probably touched
24 on some of that in our conversations as we passed
25 in the hospital.

1 Q. And we will talk about discussions that you had
2 with him in terms of a differential in the
3 hospital in a moment.

4 Other than Dr. Lerner, in terms of informal
5 discussions that you had after she was
6 discharged, have you discussed with any other
7 doctors that were involved in Patty's care the
8 subject of what is the most likely explanation
9 for why this woman suffered a stroke?

10 MR. MOSCARINO: Object to the
11 form.

12 A. I can't recall having any conversations with any
13 other doctors involved in her care as to what may
14 have caused this stroke.

15 Q. Have you talked with anyone personally, yourself,
16 that, while not being involved in Patty's care,
17 was advised of information concerning her C.
18 section, the retained laparotomy pad, the events
19 that ensued up to the time of the stroke that
20 provided you with an opinion as to what most
21 likely was the cause of her stroke?

22 A. No.

23 Q. What is your relationship with Dr. Lerner?

24 A. Dr. Lerner and I are both members of the active
25 medical staff at St. Luke's Medical Center. He

1 is the Director of the Division of Neurology, and
2 our association is just as members of the staff.

3 Q. It is a professional relationship exclusively?

4 A. Exclusively.

5 Q. Do any of the articles, books, chapters that you
6 have published, touch on the subject of
7 postpartum cerebral artery infarcts?

8 A. No.

9 Q. Have you ever written or lectured in any
10 connection with the subject of a postpartum
11 cerebral infarct?

12 A. No.

13 Q. In connection with this case, and in an effort to
14 try to determine what was the likely cause of the
15 stroke, did you do any medical research yourself?

16 A. No.

17 Q. So as you sit here now on September 5, am I
18 correct in stating that you do not, after
19 considering the information and going over it in
20 this period of time, you do not have an opinion
21 to a reasonable degree of medical probability as
22 to what caused Patty to suffer the stroke?

23 A. That's correct.

24 Q. Is there anything that you believe Patty did or
25 failed to do that caused or contributed to her

1 sustaining the stroke?

2 A. I don't think so.

3 Q. And I would include during her prenatal course,
4 is there anything that she did that you feel was
5 causative or contributed to the events that
6 ensued leading up to her stroke?

7 A. There was nothing in the prenatal course. The
8 only thing that might have been a contributory
9 factor would be --

10 MR. CRANDALL: He is asking you if
11 there is anything that she did, particularly that
12 you can blame this on.

13 MR. MISHKIND: Yes, I think that
14 is what he is about to respond to.

15 A. Just that following the cesarean she was at home
16 having difficulty with nausea and vomiting, and I
17 was not aware that that was an ongoing problem
18 for almost a week before she came into the
19 hospital. And the dehydration that resulted from
20 that could have been a factor.

21 Q. She was discharged from the hospital following
22 the C. section on November -- was it November 6?
23 Yes, November 6, 1994.

24 MR. CRANDALL: That's correct.

25 A. Yes.

1 Q. And you had, according to your office records, a
2 telephone conversation with her on November 7
3 where she indicated that she was nauseated,
4 couldn't eat?

5 A. That is not quite correct. My conversation was
6 with her husband.

7 Q. Okay, fair enough. In any event, the information
8 that was provided to you by her husband the day
9 after she got home, if you could just tell me
10 what symptom complex was described by George to
11 you.

12 A. She was having upper abdominal pain, cramping,
13 nausea, and couldn't eat.

14 Q. And your diagnosis was what?

15 A. I thought she had gastritis from her ibuprofen.

16 Q. And what course of treatment did you recommend?

17 A. I recommended that she stop the ibuprofen and
18 change to a different analgesic, Darvocet.

19 Q. Why didn't you have George bring Patty in to be
20 examined?

21 A. This was one day after her leaving the hospital.
22 I had seen her the previous day, and she seemed
23 well.

24 She had had a relatively benign post-
25 operative course, and the symptoms didn't sound

1 like something that were serious that required a
2 visit to the office.

3 Q. If George's testimony is that he reported to you
4 that Patty vomited, was weak, and was having
5 cramping, as well **as** couldn't eat, would that be
6 somewhat different than what you have noted?

7 MR. CRANDALL: This is during the
8 first phone call?

9 MR. MISHKIND: Yes, on the 7th.

10 A. What would be different would be the comment that
11 she had vomited and that she was weak. I did
12 indicate in my note that she was having cramping
13 and that she was nauseated.

14 Q. Based upon your note, would you have had any
15 concern at all that the patient was becoming
16 dehydrated?

17 A. At that point, no. This had not been going on
18 long enough.

19 Q. If the nausea, the abdominal pain, the inability
20 to eat persisted, would you then have a higher
21 index of concern that the patient was becoming
22 dehydrated?

23 A. Yes.

24 Q. Now, we can agree that you did not feel it
25 necessary to have Patty in to your office or to

1 an emergency room on November 7, correct?

2 A. Correct.

3 Q. You didn't tell George, apparently, according to
4 your note, that they should call you back in 24
5 hours or the same day or two days later if
6 symptoms persisted, did you?

7 A. No, I did not.

8 Q. In any event, George or someone did call you back
9 two days later concerning Patty's continued
10 symptoms?

11 A. Right.

12 Q. And at that time, what were you told and by whom?

13 A. Again, it was a conversation with Mr. Doll
14 telling me that she still had upper abdominal
15 pain, but that the pain had improved from when he
16 had spoken to me two days before, that she was
17 passing flatus and had actually had a bowel
18 movement. There is no comment in here about
19 whether or not she was eating. And I can't
20 recall whether or not he said anything about her
21 eating.

22 Q. That would be an important issue that you would
23 want to find out as to whether or not the patient
24 is eating and, if so, whether they are holding
25 down what they are eating, correct?

1 A. Right.

2 Q. Can we agree that certainly your records don't
3 permit you to say one way or another what Patty's
4 response was to the intake of food?

5 A. Yes.

6 Q. Can you explain why you don't have such a note on
7 that date?

8 A. No.

9 Q. Is that something that you should have had a note
10 for reflecting whether or not the patient was
11 eating?

12 A. Since I don't recall what he told me, I don't
13 know whether or not I should have had a note. In
14 other words, if it was not an issue, I might not
15 have felt it necessary to note it.

16 Q. You made a note that the pain had improved?

17 A. Yes.

18 Q. Which was an improvement over what you had noted
19 on November 7?

20 A. Yes.

21 Q. On November 7 the patient couldn't eat, correct?

22 A. Yes.

23 Q. But you make no note as to whether or not that
24 had changed two days later, correct?

25 A. That's correct.

1 Q. Would you agree with me that that is something
2 that you, as a clinician that is treating this
3 patient post-cesarean section, should be
4 determining whether or not the patient is eating,
5 and, if so, whether or not they are holding down
6 the food?

7 A. Yes.

8 Q. Because that could also lead you to determining
9 whether or not the patient is hydrated
10 appropriately, correct?

11 A. Right.

12 Q. And whether or not appropriate nutrition is being
13 provided?

14 A. Yes.

15 Q. Of what concern should you have, Doctor, if on
16 November 9 the patient was not receiving
17 appropriate nutrition because they couldn't eat
18 and they were still nauseated and weren't holding
19 down food? What would that indicate to you?

20 A. Then I would be concerned that there is a serious
21 problem responsible for her still being unable to
22 eat. I would be concerned about a complication.

23 Q. And what kind of complication would you be
24 concerned about?

25 A. I wouldn't know specifically until determining

1 what it could be. It could be any number of
2 things.

3 Q. Would one thing that would be high in your index
4 of suspicion be some type of an infectious
5 process?

6 A. Would you be more specific?

7 Q. Being that the woman just had a cesarean section
8 and is indicating that she is having abdominal
9 pain, nauseated, would you be concerned that
10 there might be some type of either a bacterial
11 infection or a viral infection that might be
12 causing her symptomatology?

13 A. That is something to consider. At this point I
14 wasn't concerned about her having a bacterial
15 infection because she didn't have a fever, she
16 was on an antibiotic, had been treated for a
17 bacterial infection prior to leaving the
18 hospital. Viral gastroenteritis would be a
19 consideration.

20 Q. I forget whether or not you told me that
21 conversation was with George on the 9th.

22 A. It was.

23 Q. Do you specifically remember the conversation?

24 A. I specifically remember that the conversation was
25 with Mr. Doll on both occasions. Do I

1 specifically remember the content? Not beyond
2 what I wrote here.

3 Q. So you are relying on these notes as to what
4 information you gathered and what recommendations
5 and plan you implemented?

6 A. Right.

7 Q. You don't recall anything independent of your
8 notes with regard to the 7th and the 9th of
9 November, correct?

10 A. Correct.

11 Q. On the 9th, then, you don't recall specifically
12 telling George to call back if A, B and C
13 occurred, or to bring Patty in if certain
14 symptoms persisted, do you?

15 A. No.

16 Q. Does Augmentin have the propensity to cause
17 abdominal symptoms in certain patients?

18 A. I wouldn't say the propensity. But virtually any
19 antibiotic might cause some abdominal symptoms.

20 Q. Is Augmentin one of those antibiotics that seemed
21 to cause a higher incidence of abdominal symptoms
22 over other antibiotics?

23 A. I don't think so.

24 Q. I was asking you before we got into your specific
25 office notes whether or not there was anything

1 specific that you felt that Patty did or failed
2 to do that may have caused or contributed to the
3 ultimate development of the stroke, and I want to
4 go back to that question now that we have talked
5 about the office visits, and I want you to tell
6 me again specifically what, if anything, should
7 Patty have done, or George on behalf of Patty,
8 differently during the period from the time she
9 went home from UH until the time that she
10 presented at St. Luke's Hospital that you believe
11 caused or contributed to the stroke?

12 A. A call to me prior to November 15th or 16th would
13 have precipitated a visit. On both of these
14 occasions Mr. Doll and I discussed the associa-
15 tion between Mrs. Doll's symptoms and medications
16 that she was taking.

17 If these symptoms had persisted despite our
18 manipulation of the medications, I would have
19 seen her sooner. **As** it turned out, a call came
20 on the 15th of November, and he was told at that
21 time to bring her to the emergency room
22 immediately because of the persistent problem.

23 So an earlier call would have precipitated
24 earlier action, and the dehydration would not
25 have progressed to the degree that it did.

1 You asked me if the dehydration may have
2 been a factor. You are asking me to conjecture?

3 Q. In terms of possible cause or contribution to it.

4 A. Contributing factor.

5 Q. Would you agree that you had a duty and a
6 responsibility to advise George, since he is the
7 one that is making these calls, as to the need to
8 call back to the office if certain symptoms
9 occurred or persisted?

10 A. Yes, and I think I did that, although it is not
11 specifically stated. Looking at my note, we are
12 discussing the manipulation of medications. And
13 he told me that she had stopped her Augmentin,
14 the pain had gotten better. I told him to have
15 her resume taking the Augmentin and, as a part of
16 the instructions, my instruction would be if the
17 pain gets worse again, then call me; that is, if
18 stopping the Augmentin was responsible for making
19 her get better, then she will get worse when she
20 takes it again.

21 If her pain is improving for factors
22 unrelated to the Augmentin, then it will continue
23 to resume when we resume the Augmentin.

24 So although it is not written here, the
25 reasoning involved in this is resume the

1 Augmentin and see what happens and call me.

2 Q. Certainly you would agree with me that you had a
3 duty and a responsibility, as Patty's physician,
4 to advise her or, in this instance, to advise her
5 husband to call back if the symptoms persisted or
6 got worse, correct?

7 A. Yes.

8 Q. And certainly if you failed to do that, that
9 would not be in keeping with accepted standards
10 of practice, correct?

11 A. Yes.

12 Q. And we can agree that your records do not reflect
13 that you did, in fact, tell George on either of
14 these visits, on either of those telephone calls,
15 to call back if the pain persisted or got worse?

16 MR. CRANDALL: You mean
17 specifically that phrase?

18 Q. Or call back --

19 MR. CRANDALL: He just indicated
20 to you why he thinks his records do indicate that
21 he told him to call back, and you are asking
22 specifically does it say "I instructed the
23 patient to call back in 24 or 48 hours."

24 MR. MISHKIND: Let me rephrase it,
25 because I must have confused you, and I hate

1 doing that.

2 MR. CRANDALL: You didn't. I know
3 exactly what you asked.

4 Q. Doctor, take a look at your November 7 and
5 November 9 note. Is there anything in those
6 notes that reflect that you communicated to
7 George that if the symptoms continued or got
8 worse that he should get back in touch with you?

9 MR. CRANDALL: Other than what he
10 just explained to you?

11 MR. MISHKIND: Well, what he has
12 just said, the record will speak for itself.

13 Q. (Continuing.) I am asking whether or not there
14 is anything on November 7 or November 9 that
15 indicates that you, Patty's doctor, indicated to
16 her husband when these calls were made that if
17 your symptoms persist or get worse to call back?

18 MR. CRANDALL: I am going to
19 object. It has been asked and answered.

20 Go ahead.

21 A. My records reflect my process of reasoning in the
22 management of this problem. And part of that
23 process is in advice to the individual to call
24 back if symptoms persist or get worse.

25 Q. But that process is not communicated in writing

1 as having been conveyed to the patient or the
2 patient's husband, correct?

3 A. The specific statement to call back is not in the
4 record.

5 Q. And that is something that clearly you had an
6 obligation, whether you did it or not, you
7 clearly had an obligation to tell George on the
8 7th and the 9th to call back if the patient's
9 symptoms persisted, got worse, or anything along
10 those lines?

11 MR. CRANDALL: Objection. Asked
12 and answered. Go ahead.

13 Q. Correct?

14 A. Correct.

15 MR. MOSCARINO: Can we take a two-
16 minute break?

17 (Thereupon, a short recess was taken.)

18 BY MR. MISHKIND:

19 Q. When did you first learn that Patty was being
20 taken back to St. Luke's Hospital -- or being
21 taken to St. Luke's Hospital?

22 A. November 15.

23 Q. You had a telephone call?

24 A. Yes.

25 Q. Was that call from George?

1 A. Yes.

2 Q. Is the November 15 telephone call reflected in
3 your office notes?

4 A. No. The reason for that is I can't even recall
5 if I was in the office at the time I told him to
6 bring her to the emergency room and I would meet
7 her at the emergency room. So the issue is
8 reflected in the emergency room contact.

9 Q. Do you recall your conversation with George on
10 the 15th?

11 A. Yes.

12 Q. Based upon what is reflected in the emergency
13 room record or independently?

14 A. It is both independently and based on what is in
15 the emergency room record.

16 What I remember independently is that he
17 said she was still vomiting and she couldn't keep
18 anything down. And I simply said, "Take her to
19 the emergency room."

20 Q. Do you remember him saying that she was still
21 vomiting?

22 A. When you say do I remember, he said she was still
23 vomiting. Maybe "still" is not the appropriate
24 term. I remember he said she was vomiting and
25 couldn't keep anything down. Now, whether she

1 had been able to eat for sometime and then
2 developed vomiting subsequently, I don't know.
3 But what I remember is that she was unable to
4 retain anything. And I said that she had to go
5 to the emergency room.

6 Q. Specifically as to whether he indicated "still,"
7 and, more importantly, whether he indicated how
8 long she had been vomiting, is it fair to say
9 that you don't have any specific recollection of
10 whether he said she was still, and, if so, how
11 long she had been vomiting?

12 A. I don't remember if he said "still." I do
13 remember he said she just couldn't keep anything
14 down, she couldn't eat. Maybe he didn't even say
15 she was vomiting. He said she couldn't eat,
16 couldn't keep any food down. And because she
17 couldn't eat, I said she had to come in to be
18 seen.

19 Q. Now, why on the 16th, if she couldn't eat, did
20 you feel at that point that intervention by way
21 of being seen, either by you or specifically in
22 the emergency room, was indicated, and it
23 apparently wasn't on the 7th or the 9th?

24 A. I am not sure if I understand the question
25 completely. Are you asking me why at this time I

1 felt she had to be seen?

2 Q. That is basically it. In other words, we know
3 that on one or more occasions, the 7th certainly,
4 maybe not the 9th, whatever was told to you on
5 those dates, at least one of the dates, she
6 couldn't eat. And, basically, I am asking you
7 why on the 16th did you tell George to take her
8 to the emergency room, and why didn't you tell
9 George to take Patty on the 7th or the 9th?

10 MR. CRANDALL: That is two
11 different questions. I know you don't mean it to
12 be that way.

13 MR. MISHKIND: I just can't word
14 things properly.

15 MR. CRANDALL: If you want to ask
16 him why he didn't come in on the 7th and 9th,
17 that is one question.

18 The second one is why on the 16th, when you
19 didn't on the 7th and 9th. So which one do you
20 want him to answer?

21 MR. MISHKIND: He can answer both
22 of them in whatever order he wants to. But, as
23 usual, you are correct.

24 MR. CRANDALL: The first thing you
25 want him to answer is why he didn't tell her to

1 come in on the 7th or 9th?

2 MR. MISHKIND: Well, pretty much
3 he has answered that before.

4 Q. (Continuing.) You felt you didn't think she
5 needed to be seen?

6 A. I answered that before. I said on the 7th she
7 had just left the hospital, I had seen her the
8 day before, and it was my impression that she was
9 stable, that she had had a fairly benign course
10 except for the endometritis and anemia, which
11 were not unusual, and thought that her complaints
12 were due to medication.

13 When we spoke on the 9th, her pain was
14 actually getting better, so there was no need to
15 see her at that time, she appeared to be
16 improving.

17 MR. CRANDALL: Now George --
18 Howard wants to know --

19 MR. MISHKIND: George probably
20 wants to know it, too.

21 MR. MISHKIND: Don't insult him.

22 A. Oh the 15th, let's get the date correct, I got
23 another call. And, first of all, this was
24 presented as a continuing problem. And even if
25 it wasn't presented as a continuing problem, we

1 have a situation in which she had complaints of
2 pain and nausea previously for which we tried
3 some medical management. And when the problem is
4 presented to me again, I have to assume the
5 medical management is not working, and so I have
6 to determine whether or not it is a more severe
7 problem and must see her.

8 The other is that on the third occasion
9 Mr. Doll indicated more concern, and a greater
10 sense of urgency about this.

11 On the previous conversations he didn't
12 convey to me the same concern and the same sense
13 of urgency. At this particular time he felt
14 something was wrong, and I felt it was essential
15 to see her.

16 I didn't just tell him to take her to the
17 emergency room. I told him to take her to the
18 emergency room so I could see her in the
19 emergency room.

20 Q. Certainly while you want to hear the degree of
21 urgency that the patient or the spouse communi-
22 cate, that is no excuse for the physician not to
23 inquire to obtain appropriate details on the
24 patient's condition so as to make appropriate
25 recommendations, correct?

1 A. Is that a suggestion that I did not?

2 Q. Well, I am not suggesting one way or another.
3 But you did indicate that George expressed more
4 urgency on the 15th as opposed to the other
5 dates.

6 If, in fact, there was an indication for
7 George to bring Patty in on the other two dates,
8 you wouldn't excuse that on the basis that he
9 didn't express any urgency if you, as the
10 clinician, had reason to say to George, "Bring
11 Patty in," or "Take Patty to the emergency room,"
12 correct?

13 MR. CRANDALL: I am going to
14 object.

15 A. This question, I am sorry, it is too complex,
16 convoluted. Could we redo it?

17 Q. It is probably poorly-worded. I appreciate you
18 saying it nicely.

19 Simply because a patient presents urgency
20 about symptoms doesn't necessarily indicate that
21 you are going to see the patient.

22 MR. CRANDALL: We are talking in
23 general, here?

24 MR. MISHKIND: Generally, yes.

25 Q. (Continuing.) Correct?

1 A. Correct.

2 MR. CRANDALL: Do you understand?

3 A. Simply because a patient expresses urgency does
4 not mean that we are going to see the patient.
5 There are other factors to take into account.

6 Q. And those factors include what, Doctor?

7 A. In this case?

8 Q. No, generally.

9 A. Those factors include the nature of the
10 complaint. They include some objective informa-
11 tion that may go along with the complaint, such
12 as whether or not there is a fever. That is an
13 objective finding.

14 And they include some understanding of the
15 patient and how the patient tends to react to
16 certain symptoms, certain problems, and how the
17 patient conveys those concerns to you.

18 Q. So it is important for you to apply your medical
19 knowledge, training and experience, in
20 conjunction with listening to the patient or the
21 spouse, decide whether or not the patient needs
22 to be seen or not?

23 A. Right.

24 Q. Now, on the other side, if a patient doesn't
25 express any urgency with regard to symptoms, that

1 still doesn't excuse your responsibility to apply
2 your training and experience and to listen to
3 what is said and to decide whether or not the
4 patient should or should not be seen?

5 A. Correct.

6 Q. The urgency is just one factor amongst a number
7 of factors in terms of whether it is okay to get
8 off the phone with the person and not have them
9 come in or direct them to an emergency room?

10 A. Urgency is a factor to be taken into considera-
11 tion, and urgency or a sense of urgency is
12 particularly helpful when you can relate one
13 episode to another episode in the same individual
14 and compare sense of urgency that is relayed in
15 the various situations.

16 Q. Can we agree that from the time that Patty went
17 home until the time that she was brought to the
18 emergency room on the 15th that there was some
19 clinical evidence on presentation to the
20 emergency room that she was dehydrated?

21 A. I am sorry, you asked from the time she went home
22 until the time that she came in, and then you
23 said to the emergency room.

24 When she presented to the emergency room
25 there was clinical evidence of dehydration. I

1 cannot tell you there was clinical evidence of
2 dehydration from the time she left the hospital.

3 Q. You don't know from the emergency room record how
4 long, at least to a probability, she had been in
5 a dehydrated state?

6 A. No.

7 Q. Certainly she was dehydrated upon presentation to
8 the emergency room, correct?

9 A. I think so.

10 Q. And of what significance, if any, is a state of
11 dehydration in a postpartum patient, as it
12 relates to the subsequent development of the
13 middle cerebral artery stroke?

14 MR. MOSCARINO: Can I have that
15 question again?

16 (Record read.)

17 A. Dehydration will decrease intravascular volume,
18 and may decrease blood flow. That is in
19 general. I don't believe that it is of any
20 greater significance in a postpartum individual
21 than it is in somebody at any other point in
22 life.

23 Q. If the intravascular volume is decreased, what
24 does that precipitate in terms of the patient's
25 coagulopathy?

1 A. That has no bearing on coagulopathy.

2 Q. What bearing does reduction in intravascular
3 volume have on the hemodynamic status of the
4 patient?

5 A. As I said before, it may decrease blood flow.

6 Q. Decreased blood flow, does that increase the
7 potential for stroke or an infarct?

8 A. I am getting outside of my area of expertise to
9 answer that.

10 Q. I will accept that. That is fine. You would
11 certainly agree if Patty was in a state of
12 dehydration prior to November 15th that it would
13 have been preferable to have had her seen in the
14 emergency room or seen in your office so as to
15 evaluate her hemodynamic status and her
16 intravascular volume and things of that nature?

17 MR. CRANDALL: I am going to
18 object. How early are you talking? At any
19 time?

20 MR. MISHKIND: At any time prior
21 to November 15.

22 MR. CRANDALL: So from the 14th to
23 the day she was discharged, if she was dehydrated
24 at any point?

25 MR. MISHKIND: Sure.

1 A. You are saying if she would have been dehydrated,
2 it would have been preferable to see her? Was
3 that the question?

4 Q. If her dehydration existed more than just on an
5 acute basis that day on the 15th, we can
6 certainly agree that it would have been
7 preferable, from the standpoint of Patty's
8 condition and the events that ensued on
9 presentation in the emergency room, for her to
10 have been seen either earlier on the 15th or the
11 14th or the 13th, or whenever her state of
12 dehydration first was clinically apparent?

13 MR. CRANDALL: Objection. Go
14 ahead.

15 A. Yes.

16 Q. Did you know that a laparotomy pad was left
17 inside Patty?

18 MR. CRANDALL: At what point?

19 MR. MISHKIND: At the conclusion
20 of the case.

21 A. At the conclusion of which case?

22 Q. I am sorry, at the conclusion of the C. section.

23 A. No, I did not.

24 Q. Why not?

25 A. Why not?

1 Q. Yes.

2 A. Because I had removed all of the laparotomy tapes
3 that I generally place at the time of the
4 cesarean, and because we had a correct count.

5 Q. Who did the count?

6 A. The nurses did the count.

7 Q. Did you have a responsibility to make sure that
8 the count was accurate?

9 MR. CRANDALL: I am going to
10 object. Go ahead.

11 A. No.

12 Q. Why not?

13 A. Because the surgeon doesn't do the count. The
14 surgeon is not involved in the count. The
15 surgeon's responsibility is not to make sure that
16 the count is accurate.

17 The surgeon's responsibility is to respond
18 to an incorrect count if he is told by the nurses
19 the count is not correct, then it is the
20 surgeon's responsibility to find or help find the
21 missing sponge or tape.

22 Q. In your opinion, did the nurses meet their
23 responsibility in this case?

24 MR. MOSCARINO: Object to the
25 form.

1 MR. CRANDALL: Objection.

2 A. I don't -- the nurses' met their responsibility
3 in terms of doing a count. And it was their
4 impression that there was a correct count. I
5 have no concern with their responsibility.

6 Q. Well, you would agree that they have a
7 responsibility to do an accurate count of the
8 laparotomy sponges or laparotomy pads, correct?

9 A. Yes.

10 Q. And you would certainly agree in this case that
11 they did not do an accurate count of the
12 laparotomy pads or sponges?

13 MR. MOSCARINO: Objection.

14 A. I really don't know. They counted several
15 times. They came up with the correct number. I
16 don't have an explanation as to why they had a
17 correct count as they counted and we ended up
18 with a retained tape.

19 Q. Well, let's talk about it. Is there any evidence
20 in this case that the retained tape, the
21 laparotomy pad, whatever you want to call it,
22 existed inside Patty's abdomen before she had her
23 C. section?

24 A. No.

25 Q. Can we agree that the tape that was retained,

1 that was ultimately removed at the time of the
2 laparotomy, was inserted at University Hospitals
3 at the time of the C. section?

4 A. We can agree on that.

5 Q. And can we further agree that someone had a
6 responsibility to make sure that all laparotomy
7 pads were removed at the conclusion of the C.
8 section before sending Patty to recovery?

9 MR. CRANDALL: What do you mean,
10 "someone"? Who is "someone"?

11 Q. I am not defining. The people in the operative
12 field, that there was someone, one or more
13 people, we will talk about that in a moment, but
14 that someone had the responsibility to make sure
15 that all the pads that had been put in were
16 removed at the conclusion of the case, correct?

17 A. Yes, yes.

18 Q. It is not the design or the practice or the
19 standard to leave a laparotomy pad in at the
20 conclusion of a C. section, is it?

21 A. That's correct.

22 Q. Is it your testimony that you, as the attending
23 surgeon, did not have a responsibility to make
24 sure that all of the laparotomy pads were removed
25 at the time that the C. section was concluded?

1 A. It is my responsibility to take all appropriate
2 precautions to remove all of the tapes that are
3 inserted. And it is my responsibility to make
4 sure that I am given a correct count before
5 closing the abdomen.

6 Q. So, in part, you are relying on the accuracy of
7 the nurses that are in the surgical suite with
8 you as to the count?

9 A. Yes.

10 Q. And we know, so that we can just move on from
11 this interesting discussion, that the count was
12 inaccurate, as evidenced by what ensued at
13 St. Luke's Hospital?

14 A. Yes.

15 Q. And that is not, in your opinion, in keeping with
16 accepted standards of practice for the
17 performance of a C. section, correct?

18 MR. MOSCARINO: Objection to the
19 form.

20 MR. CRANDALL: The fact that a
21 sponge was left in?

22 MR. MISHKIND: Yes.

23 MR. CRANDALL: That is all he is
24 asking.

25 A. The fact that a sponge was left in is not

1 consistent with accepted standards of practice.

2 Q. And do you believe that you failed to comply with
3 your responsibility as the attending surgeon by
4 permitting the case to conclude with a surgical
5 sponge retained in Patty Doll's abdomen?

6 A. No.

7 Q. Why?

8 A. Because I removed all of those tapes that I
9 routinely place as I am doing a cesarean. And I
10 was told that the sponge count was correct prior
11 to closing the abdomen.

12 Q. Any other reason why you state that you don't
13 feel that you were negligent other than what you
14 have just said?

15 MR. CRANDALL: You mean about the
16 sponge count?

17 MR. MISHKIND: The sponge count,
18 exactly. There are other issues, but we are just
19 talking about the sponge count right now.

20 A. Would you repeat that question?

21 Q. Sure. In other words, you told me that you
22 relied on the nurses to give you an accurate
23 count, and presumed that they had given you an
24 accurate count. And, therefore, you do not
25 believe that you provided substandard care,

1 because you removed the ones that you typically
2 removed, and relied on the nurses to remove the
3 ones that they typically remove?

4 A. I removed the ones that I typically place, and I
5 relied on them to give me an accurate count, not
6 to remove. They don't remove, they give me a
7 count.

8 Q. As you remove the sponges, you don't count them
9 yourself?

10 A. I count the ones that I typically place. I know
11 that I put in two sponges, routinely, and I take
12 two out.

13 Q. Are there any other sponges that are put in for a
14 C. section?

15 A. Not as a routine.

16 Q. Okay.

17 A. There are situations that arise in doing any
18 emergency surgery or in doing any surgery where a
19 sponge may be rapidly put somewhere to help with
20 exposure or to clear up blood in the field, and
21 it is these nonroutine issues, nonroutine
22 situations, that are to be covered by the sponge
23 count.

24 Q. Ultimately, though, you are the one that puts the
25 sponges in?

1 A. Yes.

2 Q. And you are ultimately the one that removes the
3 sponges?

4 A. Yes.

5 MR. CRANDALL: Which ones are you
6 talking about, the two he routinely does, or all
7 of them?

8 Q. Well, in Patty Doll's case, were you the one that
9 was responsible for putting the sponges at the
10 time of her C. section?

11 A. I am the one who is responsible for putting in
12 those two sponges that I routinely place. During
13 the course of the procedure, we may at some time
14 use a laparotomy tape to push something out of
15 the way, to keep something out of the way, to
16 absorb blood that may be collecting in the field,
17 and that may be done by the surgeon or by the
18 first assistant.

19 Q. And let's assume that it is done by the first
20 assistant. Is it the first assistant's
21 responsibility to remove that sponge at the end
22 of the case, or is it your responsibility, or
23 someone else's?

24 A. It is the responsibility of both the surgeon and
25 the first assistant to remove the sponges.

1 Q. So it is a shared or a joint responsibility?

2 A. Yes. I would say that the greater responsibility
3 rests on the primary physician.

4 Q. Which would be you?

5 A. Yes.

6 Q. In this case, were there more than two sponges
7 inserted?

8 MR. CRANDALL: More than the two
9 he usually uses, routinely uses?

10 MR. MISHKIND: Yes.

11 A. I would have to say, because of the turn of
12 events that there were. I can't recall putting
13 in any other sponges, and that is why I say the
14 sponge count is used to compensate for those
15 situations. I know I put in two sponges, I take
16 out two sponges.

17 During the heat of the procedure, if another
18 sponge is quickly tucked in somewhere and it
19 escapes your recollection while you are finishing
20 the case, that is brought to your attention by an
21 incorrect sponge count. So that is --

22 Q. I am sorry, I didn't mean to interrupt you. Go
23 ahead.

24 A. That is why I say things like that happen as you
25 are working, and you have to understand that,

1 particularly in an operation like a cesarean,
2 things happen quickly. There can be a great deal
3 of amniotic fluid and blood in the field. There
4 can be loops of bowel that come down into where
5 you are working. So you might take and put a
6 sponge somewhere quickly while you are trying to
7 control bleeding or trying to deliver the baby.
8 Because it is not a routine step in the procedure,
9 that may escape your recollection in the end.

10 So the sponge count protects you because you
11 take out the sponges you routinely put in, and
12 then you are reminded that there is an incorrect
13 count and you have to go find what you left
14 behind.

15 Q. So the nurses, in the final analysis, are there
16 to make sure that not only the routine sponges
17 that are put in, but also any nonroutine sponges
18 that are put in, are accounted for?

19 A. Correct.

20 Q. And then ultimately removed?

21 A. Yes.

22 Q. Is there anything that you can tell me from
23 Patty's C. section that specifically would have
24 caused you to use more than the two routine
25 sponges that you used?

1 A. I can tell you there is a probable explanation,
2 and that is that this cesarean was being done for
3 a placenta previa. Placenta previa is typically
4 a more bloody section than a cesarean section
5 that might be done for a woman who is in labor
6 where the baby simply can't deliver.

7 Q. From the operative report or any of the records,
8 are you able to tell me that because of the
9 placenta previa that there was more than the two
10 typical sponges used?

11 A. I can't tell you for sure that there were -- that
12 is, I can't tell you from a recollection or from
13 the operative report that there were more than
14 two typical sponges that were used.

15 I can tell you that cesarean section for
16 placenta previa is a more bloody operation than
17 most cesarean sections.

18 Q. So it certainly is conceivable -- I am sorry,
19 Doctor, go ahead, I interrupted you again.

20 A. If I could tell you what happened and why it
21 happened, we wouldn't have a retained sponge.

22 Q. Okay. It is conceivable because of the placenta
23 previa that more than the two routine sponges
24 were used in this case. It is conceivable that
25 there were other explanations for why more than

1 two sponges, the routine sponges, were used. But
2 the fact is all of the sponges that were used
3 were not ultimately removed as they should have
4 been, correct?

5 A. Yes.

6 Q. And it is inexcusable to have one or more sponges
7 left in at the conclusion of Patty Doll's
8 cesarean section?

9 MR. CRANDALL: We have beat this
10 issue to death. Let's get beyond this.

11 MR. MOSCARINO: Objection to the
12 form. He answered that in the last question.

13 Q. Your answer to the question?

14 A. Yes.

15 Q. Did Patty have an infection when she was admitted
16 through the emergency room at St. Luke's
17 Hospital?

18 A. I don't think so.

19 Q. And did she at any time develop an infection
20 while in the hospital?

21 A. It was our clinical impression that she had an
22 endometritis, that is, an infection of the
23 uterus, when she was hospitalized with the
24 cesarean section, that is, during that
25 post-operative recovery period from the cesarean

1 section.

2 Q. And that was treated with, was it, oral
3 antibiotics or IV?

4 MR. CRANDALL: In the hospital?

5 A. It was treated initially with intravenous
6 antibiotics, and discharged on oral antibiotics.

7 Q. And upon presentation back to St. Luke's
8 Hospital, and at any time prior to doing the
9 laparoscopy, and then ultimately the laparotomy,
10 was there any indication that Patty had
11 infection?

12 A. I found no indication that she had infection
13 beyond that endometritis that was treated in the
14 post-cesarean section recovery period.

15 Q. Did she still have signs of infection, whether it
16 be endometritis or otherwise, upon admission to
17 St. Luke's Hospital from the emergency room on
18 the 15th?

19 A. I can't tell you specifically. I don't have the
20 vital signs that were done in the emergency
21 room. I don't know if she had a fever.

22 And the question is a difficult one to
23 answer in that signs of infection and signs of
24 other problems may overlap. It was not my
25 impression that she had an infection at the time

1 that she was seen in the emergency room.

2 Q. Was it your impression that at any time
3 immediately prior to or at the time that you went
4 in to do the laparoscopic surgery that she had an
5 infection?

6 A. Immediately prior to?

7 MR. CRANDALL: So from the ER to
8 the time you did the surgery, anything new that
9 showed you she had an infection.

10 A. No, I did not think that she had an infection.

11 Q. The presence of the retained surgical sponge or
12 the laparotomy tape was based upon a CT scan?

13 A. No.

14 Q. What was it based upon?

15 A. An x-ray on flat film of the abdomen.

16 Q. The two sponges that you routinely use, are they
17 universal in size to the ones that would be used
18 other than routine?

19 A. Yes, they are all the same size laparotomy tapes.

20 Q. So even though upon removal -- were you able, by
21 looking at the tape, to determine whether this
22 was one of the two that you had routinely used
23 during your procedure, or whether this was one
24 that may have been used because of the bleeding
25 caused by the placenta previa?

1 A. I couldn't tell by the appearance of the tape,
2 no.

3 Q. Why weren't you able to extract the tape at the
4 time of the laparoscopy?

5 A. Because loops of bowel had become adherent to the
6 tape, they had collected around it and had become
7 adherent to the tape and to one another to -- you
8 might say this is the body's reaction to isolate
9 a foreign body.

10 Q. And this obviously was going on from the time
11 that she was closed up from the C. section until
12 you went in and did the laparoscopic procedure?

13 A. Yes. It was a process that would have begun at
14 the time that the tape was left there.

15 Q. Because the body's mechanism of dealing with
16 this foreign object had been going on for that
17 period of time, and the sponge was adhering to
18 the bowel, you were unable to do the removal
19 or extraction of it through a laparoscopic
20 procedure?

21 A. That's right.

22 Q. And had to go to an open laparotomy?

23 A. Yes.

24 Q. And it is my understanding that at the time that
25 the open laparotomy was done to remove the tape,

1 that there was some inadvertent injury to the
2 serosa of the small bowel, some tears that
3 occurred to the serosa or to the lining of the
4 small bowel?

5 A. Yes, that is a reasonable assumption, yes.

6 Q. Is there any other reasonable explanation for why
7 there were tears to the small bowel other than
8 inadvertent occurrences in an attempt to extract
9 that foreign object?

10 A. It is not something that is entirely
11 inadvertent. You have to understand, the serosa
12 is just the very thin surface, outer surface, of
13 the bowel. It was adherent to the tape. And
14 when something is densely adherent, in order to
15 remove it, in the process of dissection, you have
16 to leave -- you may have to leave a little bit of
17 the surface tissue behind on the structure to
18 which it is adherent.

19 In this case it was the laparotomy tape. It
20 could be another piece of small bowel. If you
21 have two pieces of small bowel stuck together,
22 when you separate them, one of them may lose a
23 little bit of the surface layer.

24 So it is not something that is inadvertent
25 in that it was an accident. It is an inevitable

1 process in dissecting the bowel off another
2 structure if it is densely adherent.

3 Q. It is obvious that there wouldn't have been
4 serosal tear, inadvertent or otherwise, had the
5 laparotomy tape not been left in --

6 MR. MOSCARINO: Objection.

7 Q. -- correct?

8 A. That is probably correct.

9 Q. Well, can you tell me --

10 A. What I am saying is I can't say with 100 percent
11 certainty that there wouldn't have been a small
12 bowel obstruction and need for surgery for other
13 reasons.

14 I will say that in this particular case the
15 laparotomy tape was responsible for what occurred.

16 Q. And that is to a reasonable degree of medical
17 probability, correct?

18 A. Yes.

19 MR. CRANDALL: Objection.

20 Q. As a consequence of the serosal tear, you had to
21 call in the surgical team to address the colon
22 injury?

23 A. The small bowel injury.

24 Q. I am sorry, small bowel injury.

25 A. Yes.

1 Q. And then to repair the small bowel at the time
2 that you had removed the sponge?

3 A. Yes. Let me explain what that involves. That
4 simply involves putting a few sutures in to bring
5 the edge of the serosa together. This was not an
6 injury to small bowel that caused small bowel
7 contents to leak into the abdomen. It wasn't a
8 serious complication. It is akin to putting a
9 Band-Aid on a superficial scrape on your hand.

10 Q. But it is something that you weren't qualified to
11 do and felt that you needed to call in a
12 different surgical team to do?

13 A. I am actually qualified to do it. In this
14 particular situation, I thought it would be best
15 to make sure that we had somebody that was more
16 qualified than I to do it.

17 Q. And this was done at the same time while she is
18 open; you didn't have to close her up and then
19 reopen her another time for the small bowel
20 repair to take place?

21 A. That's right.

22 Q. Certainly the need for another surgical team
23 coming in and repairing the bowel, to a
24 probability, more likely than not, would have
25 been avoided had the laparotomy pad not been left

1 in at the time that the C. section was concluded?

2 A. Yes.

3 Q. When did you first become aware that Patty's
4 clinical condition was at least suggestive of
5 some neurological problem after the conclusion of
6 the laparotomy, about what time?

7 A. It was the evening of November 16th, and it was,
8 I guess, sometime shortly after 6:00 p.m.

9 Q. How, specifically, did you become aware of it,
10 and what were the mechanisms or the mechanics of
11 what went on at that point?

12 A. I had been called by a house officer to tell me
13 that Patty was very lethargic and she had not
14 voided and the nurses wanted to put in a
15 catheter. I did not want a catheter inserted. I
16 asked her to make every effort to avoid that, and
17 please have the nurses get her up to the bathroom
18 or get her up to a bedside commode to void.

19 I at that time was out of the hospital at a
20 meeting of the OB/GYN societies. I wasn't on the
21 premises.

22 Shortly after that, and I can't recall
23 whether I called back to find out what was
24 happening because I was concerned or if the
25 resident then paged me again, but I was told that

1 when the nurses tried to get her up she couldn't
2 stand, and they noticed that she wasn't moving, I
3 believe, the right side of her body.

4 Q. Did you call for the neurological consult?

5 A. I asked the resident to call the neurologist, and
6 I left the meeting and went right to the
7 hospital. I asked the resident to call the
8 neurologist, because the resident was based at
9 the hospital and could do it more efficiently
10 than I. And I went to meet everybody at the
11 hospital.

12 Q. Was this a hemorrhagic stroke?

13 A. I am not certain. Again, you are outside of my
14 realm of expertise. I see references in the
15 record to an infarct and hemorrhagic stroke. I
16 think it would be best to get the opinion of a
17 neurologist on that.

18 Q. You are not intending at the time of the trial to
19 render any opinions as to whether or not this was
20 hemorrhagic embolism, or any specific opinions as
21 to the etiology of the stroke, are you?

22 A. I am not at this time.

23 Q. And you are not at this time in large part
24 because you don't feel that you are qualified
25 from your training and experience to render such

1 opinions?

2 A. Correct.

3 MR. MISHKIND: Obviously to the
4 extent that he does become qualified between now
5 and trial --

6 MR. CRANDALL: Well, that goes to
7 the credibility. I mean, he can give an opinion
8 at trial, if he wants to. You certainly can feel
9 free to attack it based on his qualifications.
10 But he can give an opinion, just like any other
11 doctor.

12 Q. But you don't have an opinion as you are sitting
13 here now, correct?

14 A. Correct.

15 Q. And you have obviously had almost two years to
16 think about this matter in terms of what happened
17 to Patty, and go over it in your mind and talk to
18 other people, correct?

19 A. Correct.

20 Q. And based upon all of that, you are still where
21 you are right now?

22 A. Based upon all the available data and my font of
23 knowledge, I don't have an opinion.

24 Q. Do you have an opinion as to whether she would
25 have suffered the stroke had she not had the

1 laparotomy pad left in her, subsequently admitted
2 to the hospital and undergone laparoscopy and
3 then laparotomy to remove the sponge with the
4 associated serosal tear, do you have an opinion
5 as to whether had all of that not occurred
6 whether more likely than not she would have
7 suffered the stroke anyway?

8 MR. MOSCARINO: Objection.

9 MR. CRANDALL: Objection.

10 A. As much as I don't know what caused the stroke, I
11 have to say no.

12 Q. You don't know whether it would have been
13 avoided?

14 A. No.

15 Q. Had all those things not occurred?

16 A. No.

17 Q. You talked with Dr. Lerner in the hospital in
18 terms of differentials as to causes for the
19 stroke, correct?

20 A. Yes.

21 Q. And based upon those discussions in the hospital,
22 tell me what factors were being considered as
23 being causative of the stroke, and if those
24 factors were ruled out, and, if so, how they were
25 ruled out.

1 MR. CRANDALL: I will object to
2 the form.

3 MR. MISHKIND: Well, I am making
4 it as broad as I possibly can to try to save some
5 time.

6 MR. CRANDALL: I understand.

7 Q. Go ahead.

8 A. I can't remember all the details.

9 Q. Do the best you can.

10 A. Some of the things we talked about were: Was
11 there a thrombosis? We didn't have any specific
12 reason to presume that someone of this age would
13 have a thrombosis.

14 I believe we considered the infectious
15 etiology, and there was no reason to believe
16 there was an infection, this was not an abscess.
17 We considered an embolus, and we couldn't come up
18 with any explanation for an embolus.

19 She was not -- she didn't have high blood
20 pressure, so this wouldn't -- we didn't feel that
21 this was a hemorrhagic stroke due to high blood
22 pressure.

23 We considered the possibility of a vascular
24 anomaly, and I don't believe that could be
25 demonstrated. Whether or not it could be

1 demonstrated and whether or not it was possible
2 to demonstrate it after the fact, I don't know.
3 Again, that is something beyond my area of
4 expertise. Obviously there weren't any
5 associated vascular abnormalities or malforma-
6 tions to give us a high index of suspicion that
7 that was the reason.

8 So we came up with dead-ends for everything
9 that we discussed.

10 Q. Any other discussions about differential, other
11 than what you have just indicated?

12 MR. CRANDALL: That he can
13 remember?

14 MR. MISHKIND: Sure.

15 MR. CRANDALL: There could be some
16 things in the medical records.

17 MR. MISHKIND: Sure.

18 A. I mentioned thrombosis. We considered
19 phospholipid antibody. And I don't know whether
20 or not that was ever adequately assessed.

21 Q. What caused the thrombosis of the right iliac
22 vein and the partial thrombosis of the left
23 ovarian vein that was diagnosed by CT scan on
24 November 22?

25 A. I am not sure there was such a thrombosis. My

1 interpretation of the record is that there was no
2 such thrombosis.

3 Q. Did you look at the **CT** of the abdomen and the
4 pelvis yourself?

5 A. Did I look at the scan?

6 Q. Yes.

7 A. No.

8 Q. The interpretation on November 22 indicates,
9 "Impression: Suggestion of right iliac vein
10 partial thrombosis. Also suggestion of left
11 ovarian vein partial thrombosis." At least that
12 is what the record says.

13 Was the existence of some pelvic thrombosis
14 ever ruled out?

15 A. I would have to say it wasn't absolutely ruled
16 out, and it wasn't absolutely documented. There
17 are differing interpretations in the record
18 here.

19 What I read in the CT record is a suggestion
20 of a right iliac vein partial thrombosis, and a
21 suggestion of a left ovarian vein partial
22 thrombosis, so it is not a definite diagnosis.

23 And then there is a further interpretation
24 of that **CT** scan and **of** other studies which failed
25 to document any thrombosis. **As** I read the

1 record, most of the data, most of the
2 interpretations tell me that there was not a
3 thrombosis.

4 Dr. Savrin, who is a vascular surgeon,
5 indicated that he could not find any thrombosis.
6 He had the CT scan reviewed with a radiologist.
7 Now, that was Dr. Davis. Let me see if it was
8 the same radiologist who --

9 MR. CRANDALL: You answered his
10 question already anyway.

11 THE WITNESS: Okay.

12 Q. Is that the same radiologist that did the CT
13 scan?

14 A. I am going to check that. The CT scan was read
15 by Dr. Friedman, and it was then reviewed by
16 Dr. Davis, who looked at some additional studies,
17 performed an additional study duplex, and you
18 will have to get a radiologist to explain to you
19 what a duplex is.

20 Q. You never looked at the CT scan to make a
21 determination whether she did or did not have
22 evidence of pelvic thrombophlebitis, correct?

23 A. That's correct. I am not qualified to read a CT
24 scan to that level of expertise.

25 Q. Have you ever seen in a post-cesarean section

1 patient the development of a pelvic thrombo-
2 phlebitis?

3 A. Yes.

4 Q. Is that usually infectious in origin?

5 A. There is usually some infectious component to it
6 in that the infection sets up the inflammatory
7 reaction in the pelvis that precipitates the
8 development of the thrombosis.

9 Q. And you typically have what is known as a septic
10 pelvic thrombophlebitis?

11 A. Correct. You may have a septic pelvic thrombo-
12 phlebitis or aseptic pelvic thrombophlebitis.
13 Either one may occur.

14 Q. Can you state to any degree of probability in
15 this case whether or not Patty did or did not
16 have septic pelvic thrombophlebitis?

17 A. I am reasonably certain that she did not have
18 septic pelvic thrombophlebitis.

19 Q. And the basis for that opinion is what?

20 A. The basis for that opinion is that she did not
21 have the fever associated with that condition.

22 Q. Any other basis for that opinion other than what
23 you believe to be a lack of fever associated with
24 that condition?

25 A. The CT scan interpretation, the duplex interpreta-

1 tions, the vascular studies done by Dr. Savrin.

2 Q. Are you familiar, at all, with the significance
3 of D-dimer?

4 A. Yes.

5 Q. Was there any abnormality in her D-dimer?

6 A. I don't know.

7 Q. Of what significance is D-dimer?

8 A. I believe a D-dimer would be in thrombotic
9 conditions.

10 Q. If there is a thrombotic condition, do you expect
11 to see some alteration in fibrinogen levels?

12 A. No, not necessarily.

13 Q. What would cause an alteration in the fibrinogen
14 level?

15 A. Well, it takes a rather catastrophic event to
16 cause an alteration in fibrinogen level.
17 Fibrinogen levels may be low if you have
18 something like a placental abruption, or may be
19 low if you have disseminated intravascular
20 coagulation. But fibrinogen levels are not going
21 to be altered by a localized thrombophlebitis.

22 Q. We don't have any evidence of DIC in this case,
23 do we?

24 A. No, we don't.

25 Q. Do you have any explanation, assuming there was

1 some alteration in the D-dimer or the fibrinogen
2 level, what caused those alterations in this
3 case?

4 A. I don't know what the alterations were, so I
5 can't conjecture. Do you --

6 MR. CRANDALL: No, you are done.

7 Q. You have looked over the record, and that has
8 never been anything that has been brought to your
9 attention as being anything of significance?

10 A. That is an extensive -- no, I don't think it has
11 been brought to my attention as being significant.
12 And I can't remember very bit of data that is in
13 the record.

14 Q. I would be surprised if you did.

15 The nurses that were involved at the time of
16 Patty's C. section, the scrub nurse and the
17 circulating nurse and, apparently, an operating
18 room technician -- does that sound right to you?

19 MR. CRANDALL: That there were
20 those people?

21 MR. MISHKIND: I am sorry.

22 MR. CRANDALL: You are asking if
23 that is the number of nurses that were there?

24 MR. MISHKIND: Yes.

25 MR. CRANDALL: Why don't you look

1 at the operative notes.

2 THE WITNESS: It won't be in the
3 operative notes.

4 MR. CRANDALL: It should be in the
5 nurses' notes of the operation.

6 A. I know there was a scrub tech. The OR technician
7 is the scrub tech., and she is listed as the
8 scrub nurse, ORT.

9 Q. And, I am sorry, you referred to her as a scrub
10 tech.?

11 A. Scrub tech. or operating room technician you
12 called her. She is the person who functions as
13 what you call the scrub nurse. She is listed
14 here as the scrub nurse.

15 Q. Who is the person that is supposed to do the
16 accurate laparotomy pad count, the sponge count?

17 A. It is done by both the circulating nurse and the
18 scrub tech.

19 Q. Who is the circulating nurse in Patty's C.
20 section?

21 A. There were two.

22 Q. Their names?

23 A. I believe this is Lois Edgecomb and Susan Ford.

24 Q. And the tech. was whom?

25 A. Ms. Chaney.

1 Q. Had these individuals been participants in any
2 prior surgeries that you had performed?

3 A. Certainly Ms. Chaney had. Whether or not the
4 others had participated as circulators in other
5 cases, I don't know. They are labor and delivery
6 nurses, and I have worked with them on other
7 occasions, but I don't know if it was specifically
8 in surgery.

9 Q. Did you ever talk with them after you learned
10 that a pad had been left in at the time of the C.
11 section conclusion?

12 MR. MOSCARINO: I am just going to
13 object to the extent that any of that was peer
14 review of any form or fashion.

15 Q. Did you ever talk with them at the hospital
16 outside of any formal meeting, approach them in
17 the hallway, or talked to them after you learned
18 that the pad count was inaccurate? Did you have
19 any discussion with them about it?

20 A. We had a formal meeting. I don't know if we had
21 any discussion informally in the hallway.

22 Q. Where was the formal meeting held?

23 MR. MOSCARINO: Same objection.

24 A. At University Hospitals.

25 Q. Who was present for that formal meeting?

1 MR. MOSCARINO: I am going to
2 object regarding anything at the formal meeting
3 as being something for discovery.

4 MR. MISHKIND: I am not sure at
5 this point. If we get into that, I will stop. I
6 don't think we have gotten to that point.

7 MR. MOSCARINO: I can still place
8 the objection. He is not my witness, I can't
9 instruct him not to answer. I am entitled to put
10 forth my objection.

11 MR. CRANDALL: Even if it was a
12 peer review, he can still find out who was there.

13 MR. MISHKIND: That's right.
14 A. We had the two circulating nurses, Lois Edgecomb
15 and Susan Ford, the OR technician -- excuse me,
16 Georgia Chaney, and I was there. And we had
17 someone provided by the hospital as a facilitator.
18 I don't remember who it was. I don't know her
19 title. I don't know her title, but it was
20 someone to help us in our discussion.

21 MR. CRANDALL: Before we get any
22 further, do you mind if I take a break? I want
23 to talk to George for a second.

24 (Thereupon, a short recess was taken.)

25 (Record read.)

1 BY MR. MISHKIND:

2 Q. You had mentioned that there was a facilitator,
3 and I think you said you don't remember who the
4 facilitator was; is that correct?

5 A. That's correct.

6 Q. Was there anyone else that you can recall being
7 present at the meeting other than the people that
8 you have identified?

9 A. I can't recall.

10 Q. Did this meeting have a name to it in terms of
11 was it a committee meeting? What exactly was it
12 called?

13 A. Well, I don't know if there is any name for it.
14 It was an informal -- it was not a committee
15 meeting.

16 Q. Were there any minutes or notes taken by anyone?

17 A. No.

18 Q. Was there anything that resulted as a consequence
19 of that meeting in terms of any of the partici-
20 pants?

21 MR. CRANDALL: Objection.

22 MR. MOSCARINO: Objection.

23 A. Can you be more specific?

24 Q. Did anyone receive any discipline or sanctions
25 or --

1 A. No.

2 Q. Did you leave that meeting, that informal
3 meeting, with any better understanding as to why
4 the laparotomy sponge was left in than what you
5 had prior to going into the meeting?

6 A. No.

7 Q. Other than that meeting, did you have any other
8 formal or informal meetings with anyone, any of
9 the nurses that were present at the time of the
10 C. section?

11 A. No.

12 Q. Did you see Patty at any time after she was
13 discharged from St. Luke's Hospital?

14 A. No.

15 Q. She was discharged, was it, on December 2?

16 MR. CRANDALL: Yes, December 2.

17 A. Yes.

18 Q. After December 2, 1994, then, did you consider
19 your physician-patient relationship to have
20 terminated?

21 A. I did not. I tried to get her back for a visit.

22 Q. How did you try to do that?

23 A. I called and left a message on the answering
24 machine for her to return for a postpartum visit.

25 Q. Did you receive a return telephone call?

1 A. No.

2 Q. Did you have any communication with Patty,
3 George, or anyone on their behalf subsequent to
4 that telephone call as to why either the call
5 wasn't returned or why they weren't coming in for
6 postpartum visit?

7 A. No.

8 Q. Did you subsequently learn who was taking care of
9 her postpartum follow-up?

10 A. I did.

11 Q. And how did you learn that?

12 A. Dr. Rob Collins sent me a letter after he saw
13 her.

14 Q. How were you referred, or how did Patty get
15 introduced to you to begin with?

16 A. Dr. Collins sent her to me.

17 Q. Was that when Dr. Collins was at The Clinic, The
18 Cleveland Clinic?

19 A. I have to refer back to his note to know that,
20 and I think he was.

21 MR. CRANDALL: You want to know if
22 Collins was at The Clinic when he made the
23 referral?

24 A. Yes, he was at The Clinic when he made the
25 referral.

1 Q. When Patty continued her care after December 2,
2 '94 with Dr. Collins, was that at a point in
3 time when he had moved to Youngstown?

4 A. Yes.

5 Q. Other than your office notes that are contained
6 in the office file in front of you, do you have
7 any personal notes or records that you maintained
8 concerning the events that occurred at the time
9 of the C. section or the events that occurred at
10 the time of Patty's admission to St. Luke's
11 Hospital?

12 A. No.

13 Q. So everything that you created or wrote down
14 concerning this case either is in your office
15 chart, which is in front of you, or entries that
16 you would have made in the hospital records?

17 A. Other than my communications with attorneys.

18 Q. Obviously, right. Could I see your file for a
19 moment, please.

20 Doctor, in a letter that you wrote to
21 Dr. Collins, which is in your file dated
22 January 26, 1995, you indicate, among other
23 things, she has done a wonderful job at
24 rehabilitation. What were you basing that
25 statement on?

1 A. I was basing that on her course in the hospital
2 and the report that we got from the rehab. center.
3 And I may have had -- when did I get my last note
4 from Dr. Lerner?

5 Q. That was August of '95.

6 A. Probably also from my communication with
7 Dr. Lerner.

8 Q. Have you received any information from any source
9 since August of 1995 concerning the residual
10 disabilities, if any, that Patty has?

11 A. Mr. Crandall told me that she has returned to
12 work.

13 MR. CRANDALL: Other than anything
14 I told you.

15 Q. Other than discussions with Mr. Crandall, in
16 other words, do you know what degree of
17 disability she has, and, if so, what areas of her
18 cognitive function or her functional capacities
19 have been limited in any respect?

20 A. I don't know her current status. As I indicated,
21 I did have a conversation with Dr. Lerner
22 probably sometime around February or March of
23 1996, and I don't remember the details of it.

24 MR. MISHKIND: Steve, what I would
25 like to do, if it would be okay with you, I have

1 what you produced in response to request for
2 production, I have part of the doctor's office
3 chart.

4 MR. CRANDALL: Yes.

5 MR. MISHKIND: It is clearly not
6 the entire chart.

7 MR. CRANDALL: Okay.

8 MR. MISHKIND: It also does not
9 include the letter from Dr. Collins dated
10 January 5, '95, and the doctor's letter back to
11 Dr. Collins, and various --

12 MR. CRANDALL: I must have gotten
13 the chart after the request for production,
14 because I think I gave George the whole one. I
15 will have a copy of it made for you.

16 MR. MISHKIND: Just from cover to
17 cover and just photocopy it.

18 THE WITNESS: He --

19 MR. CRANDALL: There is no
20 question before you, sir.

21 BY MR. MISHKIND:

22 Q. Have you talked to Dr. Samudio since discovering
23 the laparotomy tape as to what happened?

24 A. I told her what happened.

25 Q. She wasn't at this informal meeting that you

1 talked about?

2 A. She was not.

3 Q. What did Dr. Samudio say to you, if anything,
4 when you told her what happened?

5 A. I can only tell you her general reaction.

6 Q. Which was?

7 A. That she was surprised and she was shocked.

8 Q. In this C. section, did you physically put the
9 sponges in, or is it possible that Dr. Samudio
10 put the sponges in?

11 MR. CRANDALL: If you know. I
12 don't want you to guess.

13 MR. MISHKIND: I mean, if he knows
14 to a certainty that it was him or her or if it is
15 conceivable that --

16 MR. CRANDALL: Any responses you
17 are asking, or all responses?

18 MR. MISHKIND: We are just going
19 to talk about the two that are routinely put in.

20 Q. (Continuing.) Can you state that you would have
21 put in the two routine ones, or is it just as
22 likely that Dr. Samudio put in the laparotomy
23 pads?

24 A. It is probable that we each put in one.

25 Q. And if there was an additional one or more that

1 were used because of the placenta previa, or for
2 other reasons, would you have any way of telling
3 me whether it was you or her or any of the nurses
4 that would have put that in?

5 A. No.

6 Q. After you told her --

7 A. Excuse me, it was not one of the nurses. They
8 are just simply not put in by nurses. I can't
9 tell you if it was Dr. Samudio or me.

10 Q. There are two operative notes that are dictated
11 for the C. section, one by you and one by
12 Dr. Samudio. Can you explain to me why that is.

13 A. Yes. When a resident plays a major role in a
14 surgical procedure, we usually ask the resident
15 to dictate the case, it is a part of the learning
16 process.

17 I assumed that the operative note had been
18 dictated. And after we did the laparotomy on
19 November 15 and found the retained sponge, I saw
20 Dr. Samudio a day or two later and I told her
21 what happened. And I said, "I sure hope you
22 dictated that operative note." And the response
23 was affirmative.

24 Shortly after that when I was signing out
25 the chart in medical records, I did not find a

1 dictated operative note. So to complete the
2 chart I dictated an operative note at that time.

3 And it appears that between the time I spoke
4 with Dr. Samudio and the time that I dictated a
5 note, she dictated a note. That is what the
6 dates on the dictations would indicate.

7 Q. Have you reviewed her operative note?

8 A. I have.

9 Q. Do you take issue at all with anything that is
10 said by her in that operative note?

11 A. Yes.

12 Q. What do you take issue with?

13 A. She doesn't clearly describe the delivery of this
14 baby as a breach. There is a statement in there
15 that is faulty when she describes making the
16 incision in the uterus and reaching in and
17 grasping the baby's head. She was not thinking
18 what she was saying at the time, because she
19 subsequently stated in the note, I believe, that
20 the baby was delivered as a breach. But the head
21 would have been the last thing to come.

22 And she didn't describe the adhesions in the
23 left side of the pelvis that we noted at the time
24 of cesarean.

25 Q. Anything else?

1 A. Without going over it line by line right now, I
2 can't tell you anything else. But those are the
3 things that immediately come to mind.

4 Q. What caused the adhesions?

5 A. These are adhesions that were present at the time
6 of cesarean section, so they were old, they were
7 chronic. What caused them? Dr. Collins would
8 probably know better than I since he did her
9 previous laparoscopies for infertility.

10 She had a history of endometriosis, that is
11 what I was told. So it is very probable that
12 they were caused by endometriosis.

13 Q- Do you have an opinion as to whether or not the
14 endometriosis or the adhesions that were found at
15 the time of the C. section played a part at all
16 in the subsequent events that led to the stroke?

17 A. No.

18 Q. No, you don't have an opinion, or no, they didn't
19 play a part?

20 A. All right. I have an opinion.

21 Q. And what is your opinion?

22 A. I don't think they played a part.

23 Q. Fair enough. Thank you.

24 Both your operative note and Dr. Samudio's
25 operative note were dictated after you both knew

1 that there had been a retained foreign body or
2 surgical sponge left in Patty, correct?

3 A. Correct.

4 Q. Did you or Dr. Samudio acknowledge that there was
5 a sponge left in either your operative note or in
6 her operative note?

7 A. I don't believe so.

8 Q. Has Dr. Samudio ever given you an explanation to
9 this date as to why there was a sponge left in,
10 or has she indicated to you that she had an
11 explanation for why the sponge was left in?

12 A. No.

13 Q. Has anyone from the hospital ever come forward
14 and told you personally that they or she was the
15 one that missed the count?

16 MR. MOSCARINO: Objection.

17 A. No.

18 Q. So no one, to your knowledge, has fessed up and
19 assumed responsibility for having not accurately
20 done the count?

21 MR. CRANDALL: Isn't that the same
22 question you just asked him?

23 MR. MISHKIND: In different words,
24 I suppose it is.

25 MR. MOSCARINO: Same objection.

1 A. No.

2 Q. Is a laparotomy pad radiolucent?

3 A. A laparotomy pad is radiolucent. There is a
4 radiopaque marker in a laparotomy pad.

5 Q. Why is that?

6 A. So that it can be picked up on x-ray.

7 Q. Is there a routine post-op x-ray that is done at
8 the conclusion of the cesarean?

9 A. No.

10 Q. Were there any x-rays that were done between the
11 time that the cesarean was concluded and the time
12 that Patty was sent home?

13 A. No.

14 Q. If there had been one, would that more likely
15 than not have picked up the pad?

16 A. Yes.

17 Q. Had it been picked up, would a laparoscopy have
18 been the treatment of choice at that point in an
19 effort to remove the pad?

20 MR. CRANDALL: I am going to
21 object. Go ahead.

22 A. The treatment of choice would have been removal
23 of the pad.

24 Q. Initially through an attempted laparoscopy?

25 A. I can't tell you that there is a treatment of

1 choice as to how it is done.

2 Q. The less invasive, obviously, is through a
3 laparoscopic means, correct?

4 A. Yes. I doubt that it would have been possible.

5 Q. Why is that?

6 A. Because of the findings at the time that we did
7 our surgery.

8 Q. But can you say that those findings that you saw
9 when you did your surgery down the road on the
10 15th or the 16th would have been the same as if
11 they had been discovered while Patty was still in
12 the hospital following the C. section?

13 A. I can't say that with certainty.

14 Q. Isn't it more likely that the adherence to the
15 small bowel and the body's reaction to that
16 foreign body would have been less had it been
17 discovered shortly after the C. section before
18 she was sent home as opposed to when she came
19 into St. Luke's Hospital?

20 MR. CRANDALL: Objection.

21 A. Yes.

22 Q. And isn't it more likely than not that the
23 removal of the sponge or the retained laparotomy
24 pad would have been easier with less potential
25 for adherence to the bowel and injury to the

1 small bowel had it been removed at the time
2 shortly after the C. section, as opposed to when
3 it was removed?

4 MR. CRANDALL: Within the
5 hospitalization, that is what you mean by shortly
6 afterwards?

7 MR. MISHKIND: Yes, right.

8 A. Yes.

9 MR. CRANDALL: Do you want to give
10 George a crack and come back at it?

11 MR. MISHKIND: I am going over my
12 notes. I may not have, in fact, any questions.
13 But if I do, I will check them off and come back
14 in.

15 - - -

16 CROSS-EXAMINATION

17 BY MR. MOSCARINO:

18 Q. My name is George Moscarino. I am one of the
19 attorneys for University Hospitals in this case.
20 I have a few questions for you. Okay?

21 A. Yes.

22 Q. Just so we are on the same wavelength, during the
23 course of this deposition we have talked about
24 tapes, M-tapes, and sponges; are they universal
25 in terminology?

1 A. Yes.

2 Q. Just so I understand, the tape that was found in
3 this case was located where?

4 A. It was located in the left side of the pelvis.
5 Perhaps, actually, it was more in the left lower
6 quadrant of the abdomen. It was fairly high up,
7 it was not deep in the pelvis. So it was, as I
8 recall, proximately midway between the umbilicus
9 and the anterior superior spine of the iliac
10 crest on the left side.

11 Q. And so that I understand, you told Mr. Mishkind
12 that you routinely place two tapes or pads in a
13 cesarean section?

14 A. Yes.

15 Q. And those are placed where?

16 A. They are placed down low. They are placed
17 between the broad ligament of the uterus and the
18 abdominal wall. And they extend up so that they
19 are situated between the body of the uterus and
20 the abdominal wall.

21 Q. I see in the operative report, or both reports,
22 that tapes are placed in, quote, unquote, "the
23 gutters." That what you have described to me,
24 the gutters?

25 A. Actually, no. That dictation is not really

1 precise, because they are not out in the
2 gutters. The gutters are farther lateral and
3 farther posterior. So that is an imprecise
4 statement in the operative note.

5 Q. So the tapes that you told us that you routinely
6 place -- bear with me for my lack of medical
7 terminology -- are they in the gutters, or are
8 they what you told me about?

9 A. They are not truly in the gutters. They are up
10 against the abdominal wall, situated between the
11 abdominal wall and the uterus and the broad
12 ligaments, which are the extensions out to the
13 sides from the sides of the uterus.

14 Q. And what is the purpose of placing these tapes or
15 these pads?

16 A. They are placed there to prevent the amniotic
17 fluid and the blood that come from the uterus
18 from spilling out into the gutters and into the
19 peritoneal cavity. So they form a sort of
20 barrier or dam to keep all this material from
21 contaminating the peritoneal cavity.

22 Q. When you say you place these tapes on each side
23 of the location you described, is that one tape,
24 or is that a package of tapes that is put
25 together, or how do you work that out?

1 A. It is one laparotomy tape on the left side and
2 one laparotomy tape on the right side. And they
3 are placed so that they actually extend from down
4 at the lowermost part of the broad ligament up
5 and to meet in the midline across the uterus and
6 form a dam.

7 Q. Can you give me a dimension on each one of these
8 tapes?

9 A. Laparotomy tapes vary in size and shape. I think
10 the ones we are talking about are probably about
11 12 inches square, 12 inches on a side.

12 Q. And then during the course of this surgery, would
13 you be on one side of Mrs. Doll and Dr. Samudio
14 on the other?

15 A. That's right.

16 Q. Do you know which side you were on during the
17 surgery?

18 A. I was on her left side.

19 Q. Is that by usual protocol and procedure, or you
20 just remember the specific procedure?

21 A. That is usual procedure.

22 Q. And then I take it, obviously, then, Dr. Samudio
23 would have been on the right?

24 A. Yes.

25 Q. And then do you personally place each of these

1 pads or tapes, or do you direct the resident to
2 do the other one?

3 A. I usually place one and direct the resident to do
4 the other one.

5 Q. And then when they are removed, do you take them
6 both out, or do you direct the resident to do
7 one, or do you direct the resident to take the
8 other one out?

9 A. That varies. Sometimes the resident will remove
10 one and I will remove one. Sometimes I will
11 remove them both.

12 Q. Now, you told us that during the course of the
13 surgery, such as somebody who has this placenta
14 previa condition, additional pads or tapes might
15 be used because of the bleeding that is
16 associated with this condition?

17 A. Yes.

18 Q. Would that be your decision to use the additional
19 tapes, or the resident's, or the nurse's, or
20 whose?

21 A. Could be either me or the resident, whoever is at
22 that time trying to get exposure.

23 Q. And would these additional tapes be placed in an
24 area different than the original wall or dam that
25 you had described?

1 A. Usually, yes.

2 Q. So that I am clear, you have no specific recall
3 as to where additional tapes, if any, were
4 placed?

5 A. No.

6 Q. Do you know if additional tapes were placed?

7 A. I have no recall of where or when additional
8 tapes were placed.

9 Q. Would those be of the same size or dimensions
10 than those that you have previously described
11 that form this dam?

12 A. All of the tapes used in the case are of the same
13 size and dimensions.

14 Q. Now, what side of Mrs. Doll's body was the tape
15 that was found?

16 A. It was on the left side.

17 Q. And where was it in proximity to the wall or dam
18 that you told me about?

19 A. It was higher. It was located higher in the
20 abdomen than the tapes that I typically place at
21 the time of cesarean.

22 Q. How much higher, dimension-wise, in centimeters?

23 A. Oh, as I recall, it was about eight or ten
24 centimeters higher.

25 Q. These tapes, do they look like gauze pads of some

1 sort when they are first put in or prior to being
2 put in someone's body?

3 A. They are a fluffy cotton material, I believe,
4 thick. I guess the closest thing to which I can
5 compare them in lay terms would be something like
6 soft face cloths.

7 Q. Once they are placed, is there something that the
8 surgeon or that the residents or the nurses do to
9 flag to themselves as to where these things have
10 been placed?

11 A. Some do and some don't.

12 Q. Do you do anything in particular yourself with
13 respect to placement of these tapes or pads? In
14 other words, I understand some people put like a
15 scissors on them or they do certain things just
16 to make a mental marker, kind of like a string
17 around the finger, to remind themselves that they
18 have these things in place. Am I right in that
19 or am I wrong?

20 A. You are right. At that time I did not.

21 Q. Are you able to tell me -- I am sorry if this is
22 repetitive -- as to who exactly placed the pad
23 that you retrieved in the surgery at St. Luke's
24 in Mrs. Doll's abdomen during the course of the
25 cesarean section?

1 A. I can't tell you that.

2 Q. Based on your answers to the questions posed by
3 Mr. Mishkind, am I correct to conclude that it
4 would have been either you or Dr. Samudio?

5 A. Yes.

6 Q. Is there any more likely that it was you since it
7 was on the left side than Dr. Samudio?

8 A. No.

9 Q. I take it people are reaching in and across her
10 body?

11 A. Yes.

12 Q. What happens to these pads once they are in the
13 body and they soak up blood, do they change their
14 appearance?

15 A. They simply become wet and bloody.

16 Q. Based on the location of this tape that you
17 removed, are you able to tell me what purpose it
18 was placed there for other than to soak up blood
19 or fluid?

20 A. I can't tell you specifically. It was more
21 likely placed to wall off some bowel or omentum
22 that may have been coming down into the field.

23 Q. Then what happens at the point in time when the
24 baby is delivered and you are getting ready to
25 close? Who makes the directions as to taking out

1 these tapes or pads, if any?

2 A. I direct the removal of the tapes that I
3 routinely put in after the uterus is closed and
4 we are ready to close the abdomen. I direct the
5 removal of those tapes.

6 Q. And that is with respect to solely this initial
7 wall or dam that you told me about?

8 A. Yes.

9 Q. And who makes the directions regarding any
10 additional tapes or pads that would have been
11 inserted during the course of the operative
12 procedure?

13 A. If I recall placing any additional pads, then I
14 direct the removal of those pads. The resident
15 may do the same if the resident recalls having
16 placed a pad. If neither one of us recalls
17 having placed a pad, then the nurse directs it
18 because of an incorrect count.

19 Q. Is there some type of visual or hand type of
20 checking by you and/or the resident, feeling
21 around for tapes prior to closure?

22 A. We look. We routinely look. When the tapes are
23 removed, the ones that I standardly put in as a
24 matter of routine, when those are removed, then
25 we look over into the gutters that we referred to

1 earlier to see if there is any blood there to be
2 able to remove that.

3 At the same time we look around the tube and
4 the ovary on each side to see how it looks, to
5 see if we can see it. We cannot see the tube and
6 the ovary without having first removed the tape.
7 We took out the tape, looked at the gutters, and
8 so we do look inside to see if we have left
9 anything there.

10 Q. Do you have an understanding as to how many
11 sponge counts are conducted prior to closure?

12 A. I don't know how many sponge counts are routinely
13 done prior to closure. I think there is one done
14 before you close the peritoneum and one done
15 after you close the peritoneum as a check, but
16 that is nursing protocol, and I can't tell you
17 for sure.

18 Q. Do you actually receive word in some form or
19 fashion from one of the nurses that the sponge
20 count has been done and is, indeed, correct?

21 A. Yes.

22 Q. That is prior to closure?

23 A. Usually we receive that as we are closing. **As** we
24 are in the process of closing the abdominal wall,
25 we will usually be told sponge counts, correct.

1 Q. And I take it by your testimony you don't
2 personally participate in the counting of these
3 pieces of gauzes or sponge?

4 A. I don't participate in counting them unless the
5 sponge count is incorrect.

6 Q. And you would not then have participated in this
7 case, based on what you told Mr. Mishkind?

8 A. Correct, because we were given a correct count.

9 Q. Do you know if this specific tape or sponge is
10 still being housed at St. Luke's Medical Center?

11 A. According to the pathology report, it is. I am
12 sorry, let me correct that. At the time of the
13 pathology report, it was. I don't know if they
14 retain it this long.

15 MR. MOSCARINO: Off the record.

16 (Thereupon, a discussion was had off the
17 record.)

18 Q. Do you, in your memory, or mind's eye, do you
19 remember removing this initial pad or tape that
20 you placed to form this dam on the left side of
21 Mrs. Doll during that specific surgery?

22 A. I don't remember the act of removing it, but I
23 know I did.

24 Q. And do you know or remember whether Dr. Samudio
25 removed one from the other side or whether you

1 did both of those?

2 A. I don't remember. I probably did both of them.

3 Q. Is that your usual procedure that you remove both
4 of the initial pads that are placed to form this
5 dam?

6 A. More often I will remove them both, but a fair
7 number of times the resident will remove one.

8 Q. Would they do that on their own, or would they
9 wait for you to tell them to do that?

10 A. Wait for me to tell them.

11 Q. With respect to the removal of the other pads,
12 would they wait for you to tell them, also, if
13 additional sponges or pads were placed in the
14 operative field?

15 A. No. This is a process. We are removing tapes,
16 inspecting, and preparing to close, and it is
17 just a process you go through. Once the process
18 is initiated, the resident will continue the
19 steps along with me.

20 Q. Are the tapes or sponges taken out during the
21 course of the procedure, or are they all taken
22 out at closure?

23 A. They are generally taken out at closure. There
24 may be others that are put in and taken out
25 during the course of the procedure, but most of

1 them are taken out at closure.

2 Q. Just so that I understand, you told Mr. Mishkind
3 that you removed all the tapes that you routinely
4 place, and then you were told that the sponge
5 count was correct, right?

6 A. Yes.

7 Q. And when you say you removed all the tapes that
8 you routinely placed, we are talking about these
9 two that form the dam, correct?

10 A. Yes.

11 Q. And with respect to the other ones, you are not
12 really able to tell me who took out any of the
13 other tapes; am I correct in my summarization?

14 A. That's correct.

15 Q. But whoever would have taken them out, it would
16 have been either you or Dr. Samudio?

17 A. Yes.

18 Q. Are you able to tell me whether the sponge that
19 was removed in your subsequent operative
20 procedure was one of these sponges that was
21 initially put in, or was it something else that
22 was put in afterwards?

23 A. It was not one of the ones that were initially
24 put in.

25 Q. And you are able to tell that because of size,

1 location, or what?

2 A. Because of location.

3 Q. In the specific one that we are talking about,
4 the one that was left inside Mrs. Doll, is that
5 something that as you and Dr. Samudio are closing
6 you could see, or is it something that you would
7 have to stick your hands in and dig for?

8 A. Something you would have to dig for or look for
9 by lifting the abdominal wall and inspecting.

10 Q. During the course of the surgery, you supervise
11 the activities of Dr. Samudio; am I correct?

12 A. Yes.

13 Q. She is a physician in training that, just by
14 rights of hierarchy, you can direct and control?

15 A. Yes.

16 Q. How about the nurses, do you have supervisory
17 responsibility for the nurses?

18 A. To some degree I direct nurses in terms of what
19 is to be done with the patient. I do not
20 supervise them with respect to how they perform
21 their tasks.

22 Q. You have the authority, just by your position as
23 the attending physician, if you tell them to do
24 something, it is their job to go ahead and do
25 that, correct?

1 A. Within reason, yes.

2 Q. And the attending physician or the OB/GYN/surgeon
3 in the case obviously was you?

4 A. Yes.

5 Q. You would be, then, the highest ranking medical
6 professional in the operative suite?

7 A. I haven't thought of it that way. I don't
8 honestly know. That may be so.

9 Q. Are you ultimately responsible for what goes on
10 during the course of the surgery?

11 MR. CRANDALL: Objection. For
12 every single thing that goes on in the operating
13 room, you are asking if he is responsible for
14 that?

15 MR. MOSCARINO: Yes.

16 A. Not for everything, no.

17 Q. Well, I don't want to include the absurd, crazy
18 thing that might happen with you.

19 A. I am responsible for those things that --

20 MR. CRANDALL: You answered his
21 question already.

22 Q. You are responsible for the performance of the
23 surgery?

24 A. Yes.

25 Q. And in a teaching institution, that would also

1 include for the activities of Dr. Samudio during
2 the course of the surgery?

3 A. Within reason, yes.

4 Q. With respect to the actual counting of the
5 sponges or the tapes, you rely on the nursing
6 staff to give you that information, correct?

7 A. Yes.

8 Q. Do you have a duty with respect to the sponge
9 count to perform it in any form or fashion?

10 MR. CRANDALL: To perform the
11 sponge count itself you are asking?

12 MR. MOSCARINO: Yes.

13 A. No.

14 Q. If I understand your testimony, you only become
15 involved if you understand the sponge count is
16 incorrect, then you would become more involved.
17 Am I right in summarizing your testimony?

18 A. Well, not only. I become involved if there are
19 sponges that can't be located.

20 MR. MOSCARINO: That is all I
21 have, thank you.

22 - - -

23 CONTINUED CROSS-EXAMINATION

24 BY MR. MISHKIND:

25 Q. A couple quick questions, Doctor, and then I will

1 let you get to your patients.

2 Ultimately, are you responsible for insuring
3 that foreign objects, such as a laparotomy pad,
4 are not left inside a patient at the end of a C.
5 section?

6 MR. CRANDALL: I am going to
7 object.

8 A. Ultimately, no.

9 Q. Who has the ultimate responsibility at the
10 conclusion of the case to make sure that retained
11 laparotomy pads are not left or a laparotomy pad
12 is not left inside a patient?

13 A. I am not sure I can tell you who is ultimately
14 responsible. I have told you what I think are
15 the various responsibilities.

16 MR. CRANDALL: Ad nauseum I think
17 we have gone through this.

18 Q. Would you agree that there is a shared responsi-
19 bility between you, as the surgeon, and the
20 nurses of the hospital?

21 MR. CRANDALL: I am going to
22 object. We have been through this before.

23 MR. MISHKIND: Okay.

24 MR. CRANDALL: And he has told you
25 that his responsibility --

1 MR. MISHKIND: Well, Steve--

2 MR. CRANDALL: We are going to --

3 MR. MISHKIND: Are you going to
4 testify for him?

5 MR. CRANDALL: Exactly, because
6 this is ridiculous. We are asking the same
7 questions again and again looking for different
8 answers.

9 MR. MISHKIND: No, I am not. I am
10 going to make sure now you can go ahead and
11 testify.

12 MR. CRANDALL: I am not testifying,
13 I am telling you what he said.

14 MR. MISHKIND: You are testifying.
15 Go ahead.

16 MR. CRANDALL: Fine. He has told
17 you he has the responsibility to look for the
18 sponges, and that the responsibility of the
19 nurses is to count for the sponge count.

20 MR. MISHKIND: Are you done?

21 MR. CRANDALL: Apparently, yes,
22 for right now I am,

23 MR. MISHKIND: I just wanted to
24 know whether you wanted to add anything further.

25 Q. (Continuing.) That isn't my question.

1 I am asking in terms of the ultimate
2 responsibility for insuring that a foreign
3 object, such as a sponge, is not left in a
4 patient, the ultimate responsibility, is that
5 yours or is that shared between you and the
6 nursing staff?

7 A. The overall responsibility is shared.

8 Q. Now, you indicated that a correct count was done
9 in this case. Bear with me, I don't mean to go
10 over something that has already been gone over,
11 but how are you able to say that a correct count
12 was done at the conclusion of the C. section?

13 A. Are you sure that is what I said?

14 Q. Well, when Mr. Moscarino was questioning you, you
15 indicated a correct count was done.

16 A. Did I say that or did I say that I was given a
17 correct count?

18 Q. Okay. Well perhaps --

19 MR. CRANDALL: I think you are
20 saying the same thing.

21 Q. When you say you are given a correct count, how
22 do you know that you are given a correct count?

23 A. By saying I was given a correct count, I mean the
24 nurses reported to me a correct count.

25 Q. In other words, they accounted for X number going

1 in and X number going out?

2 A. That's right.

3 Q. I am sorry, go ahead. You know that that count,
4 in actuality, was not correct, correct?

5 4. At this point in time I know that, yes.

6 Q. There was some question about placing a marker on
7 the pads, and you indicated that at that time you
8 weren't doing any type of flagging or marking.
9 Do you do something differently now with regard
10 to lap pads?

11 MR. CRANDALL: Objection. Go
12 ahead.

13 Q. Or laparotomy sponges?

14 A. I tag the sponges that I routinely place on the
15 sides with instruments. When sponges are used in
16 an emergency situation, they are generally not
17 tagged, because we don't have time to put tags on
18 them.

19 Q In nonemergency situations, you are now, did you
20 say -- what are you putting on them?

21 A. Tag, is that we attach a surgical instrument,
22 such as a clamp, to each tag.

23 Q. Is this a new concept, or is this something that
24 has been used in the medical world for some time?

25 A. It is something that has been used.

1 Q. Did you start using surgical instruments on pads
2 as a consequence of the Patty Doll situation?

3 MR. CRANDALL: Objection. Go
4 ahead.

5 A. Yes.

6 Q. Is there any particular reason you weren't using
7 surgical instruments prior to Patty's case?

8 MR. CRANDALL: Objection. Go
9 ahead.

10 A. Yes. It wasn't necessary because I routinely
11 place the same number of tapes in the same place
12 and remove those same tapes at the end of the
13 procedure.

14 Q. It was as feasible before Patty Doll and in Patty
15 Doll's case to use surgical instruments to tag
16 the sponges as it is now, correct?

17 A. Yes.

18 Q. Was Patty at increased risk of experiencing a
19 stroke by virtue of being in a postpartum state?

20 A. I don't think so.

21 Q. Was she at increased risk of sustaining a stroke
22 by virtue of having to undergo a laparoscopy and
23 then a laparotomy to then remove a surgical
24 retained sponge?

25 A. No.

1 MR. CRANDALL: Is that it?

2 MR. MISHKIND: It is. Doctor,
3 thanks for your time.

4 MR. CRANDALL: We will read this.

5 - - -

6 (DEPOSITION CONCLUDED.)

7

8

9

10 MICHAEL THOMAS GYVES, M.D.

11 - - -

12

13

14

15

16

17

18

19

20

21

22

23

24

25

CERTIFICATE

State of Ohio,)
) SS:
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Merit Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, MICHAEL THOMAS GYVES, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee, or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 9th day of DECEMBER, 1996.



Diane M. Stevenson, RMR
Notary Public in and for
The State of Ohio.

My Commission expires October 31, 2000.

Diane M. Stevenson, RMR
Morse, Gantverq & Hodge