

1 IN THE COURT OF COMMON PLEAS
2 CUYAHOGA COUNTY, OHIO

3 - - -
4 CAROL BOZIK,)

5 Plaintiff,)
)

6 vs.) CASE NO. 408268
) JUDGE BURNSIDE

7 MICHAEL HAUSER, D.M.D.,)

8 M.D., AND THE MT. SINAI)

9 MEDICAL CENTER, et al.,)
)

10 Defendants.)
11
12 - - -

13 Deposition of MICHAEL S. HAUSER,
14 D.M.D., M.D., a Defendant herein, called by the
15 Plaintiff for Cross-Examination pursuant to the
16 Ohio Rules of Civil Procedure, taken before me,
17 the undersigned, Janine J. Howard, a Registered
18 Professional Reporter and Notary Public in and
19 for the State of Ohio, at the offices of Michael
20 S. Hauser, D.M.D., M.D., 23250 Chagrin Boulevard,
21 Commerce Park Square, Building Five, Suite 205,
22 Beachwood, Ohio, on Thursday, the 24th of August,
23 2000, at 2:40 o'clock p.m.
24
25 - - -

1 APPEARANCES:

2 On Behalf of the Plaintiff:

3 CARLIN & CARLIN

4 BY: William Carlin, Attorney at Law
5 29425 Chagrin Boulevard

6 Pepper Pike, Ohio 44122

7 On Behalf of the Defendants:

8 REMINGER & REMINGER

9 BY: John R. Scott, Attorney at Law
10 The 113 St. Clair Building
11 Cleveland, Ohio 44113
12
13 ---
14
15
16
17
18
19
20
21
22
23
24
25

1 MICHAEL S. HAUSER, D.M.D., M.D.

2 of lawful age, a Defendant herein, having been
3 first duly sworn, as hereinafter certified,
4 deposed and said as follows:

5 CROSS-EXAMINATION

6 BY MR. CARLIN:

7 Q. Doctor, my name is William Carlin and I
8 represent Carol Bozik. And first of all, I would
9 like to take the time to thank you for coming
10 here and making your time available so I can ask
11 you some questions regarding this matter.

12 And I'm going to ask you some questions.

13 You can see that the stenographer, court
14 reporter, is taking down here everything that I
15 ask you and taking down your testimony. If you
16 don't know the answer to a question, you can tell
17 me you don't know.18 If you don't understand a question, please
19 tell me and I'll try to rephrase the question so
20 that you understand it; is that fair?

21 A. Yes.

22 Q. And obviously, you have to verbalize your
23 answer so that the court reporter can take it
24 down.

25 A. I understand.

1 INDEX

2 Page

3 CROSS-EXAMINATION BY MR. CARLIN 4

5
6 Plaintiff's

7 Exhibits Page

8 A. 19

9 B. 22

10 C. 48

11 D. 61

12 E. 61

13 F. 61

14 G. 61

15 H. 61

16 I. 61

17 J. 61

18 K. 61

19 L. 61
20
21
22
23
24
251 Q. If you do answer a question, then I'm going
2 to assume that you understood the question; is
3 that fair?

4 A. Yes.

5 Q. And your attorney is here and any time
6 during the course of this deposition you would
7 like to consult with him, feel free to do so.

8 A. Thank you.

9 Q. You don't have to talk to him in a way
10 that's on the record. You can talk to him and go
11 off the record and ask him a question that you
12 may have about this proceeding, or about
13 anything, but that's why he's here so you can ask
14 him a question.

15 A. I understand.

16 Q. If you would like to take a break at any
17 time, let me know, and you could do that.

18 A. Thank you.

19 Q. Doctor, we are here at your office and I
20 believe this is in Beachwood, Ohio; is that
21 correct?

22 A. Correct.

23 Q. And I think that you had a patient and her
24 name Carol Bozik; do you recall her?

25 A. Yes.

1 Q. And I think that you performed a bilateral
2 sagittal osteotomy on about August 28th of 1996;
3 is that correct?
4 A. That's correct.
5 Q. The bilateral sagittal osteotomy, that's
6 also jaw reconstruction; is that correct?
7 A. Correct.
8 Q. So, when I refer to that surgery, I'll just
9 refer to jaw reconstruction surgery; is that
10 fair?
11 A. That's fine.
12 Q. And, Doctor, I think that Carol Bozik was
13 about 42 years old when she had this procedure;
14 does that sound about right?
15 A. Yes.
16 Q. Doctor, what did you tell Carol Bozik would
17 happen if she did not have the procedure?
18 A. Well, she came to me to have the procedure
19 done. I believe other practitioners, according
20 to her deposition, told her that her teeth were
21 wearing away. They would continue to wear away
22 and deteriorate if she did not have the
23 operation. The operation would help minimize
24 that, and also improve her bite.
25 So, she was coming to me to do two things:

1 One, to stop deterioration of her teeth. Second,
2 to provide her with a bite which she did not have
3 before I treated her.
4 Q. And I think, basically, what you are
5 indicating is that the alternative method of
6 treatment, if not continued, consequences of not
7 having the surgery is, she would stay the same?
8 A. Yes. She was advised of that.
9 Q. The surgical procedure is not medically
10 necessary for her health and welfare, is it?
11 A. It wouldn't be medically necessary in the
12 way that if you had a ruptured aneurysm, for
13 example, you would have to have surgery right
14 then and there in order to save your life. In
15 that sense, it's not medically necessary.
16 It's more necessary in the way that a total
17 hip replacement would be necessary. The patient
18 could live with chronic deterioration from the
19 hip and/or they can elect to have corrective
20 orthopedic reconstruction. So, it would be more
21 in line with that type of orthopedic
22 reconstruction.
23 Q. But, you are not saying that it is
24 medically necessary?
25 A. It's medically necessary if this patient

1 wishes to improve her function, she would need to
2 have a medical procedure, but she would live just
3 as long without having the procedure. So, it
4 depends how you want to interpret that.
5 Do you want to interpret total hip
6 replacement as medically necessary or does a
7 patient live with a cane? And maybe a cane would
8 be preferable to that.
9 Q. So, if she did not have the procedure, the
10 bite, the malocclusion -- is that what they call
11 it, the bite?
12 A. That's correct.
13 Q. So, if she did not have the procedure, then
14 her bite would continue to be, I guess,
15 maloccluded?
16 A. Correct.
17 Q. And what are the consequences of having a
18 maloccluded bite?
19 A. There can be several. It is more difficult
20 to eat with a malocclusion. Her particular
21 malocclusion seemed to be contributing to the
22 wearing away of her front teeth. That would
23 progress.
24 In addition, some patients should have
25 corrective surgery that have a maloccluded bite

1 such as the kind Ms. Bozik had, for aesthetic
2 purposes. It doesn't look like she had a jaw;
3 many reasons like that, many reasons why people
4 want that corrected.
5 Q. So, for one, would be the maloccluded bite?
6 A. Yes.
7 Q. The other might be aesthetics?
8 A. Yes.
9 Q. What would the other case be?
10 A. In her case, to prevent further erosion of
11 the teeth.
12 Q. So, would there be any other reasons that
13 she would need this particular jaw
14 reconstruction?
15 A. Not in her particular case. Those are the
16 main reasons that come to mind.
17 Q. Okay. Do you feel -- even as we sit here
18 right now, Doctor, in retrospect, do you feel
19 that Carol Bozik was a candidate for this, an
20 appropriate candidate for this type of procedure?
21 A. Yes.
22 Q. Was it possible that she could have been
23 treated orthodontically as opposed to
24 orthognathically?
25 A. No. She had orthodontics for at least a

1 year before she saw me. The orthodontist
 2 determined that her bite could not correct
 3 satisfactorily with braces. She had what we call
 4 a significant malocclusion bite quite far off.
 5 There was alignment, which I could do with
 6 braces. In fact, he even stated she would have
 7 to continue the braces after the surgery in order
 8 to resolve her bite problems, they were so
 9 significant.
 10 Q. Based on your examination of Carol Bozik,
 11 were there any skeletal problems that she had
 12 that might have made the procedure more difficult
 13 or not probable to have a favorable outcome?
 14 A. In answer to the second part of your
 15 question, the answer would be, no, as far as not
 16 probable for favorable outcome. But, there were
 17 aspects that would make her surgery more
 18 difficult to do. The primary one being that she
 19 had a small mouth. She had difficulty opening
 20 wide. And that makes any operation inside of the
 21 mouth more difficult.
 22 Q. Doctor, after the surgical procedure, there
 23 apparently was a left facial nerve paralysis?
 24 A. That is correct, to a degree she had
 25 partial paralysis of certain branches of the

1 facial nerve.
 2 Q. Prior to the procedure, that left facial
 3 nerve paralysis was not present, was it?
 4 A. It was not.
 5 Q. And I think also in regards to that, they
 6 call it -- they have called it in these records
 7 here, "left facial nerve paralysis." I have seen
 8 it called Bell's palsy or neuropathy. Are those
 9 terms that generally describe the position of
 10 Carol Bozik subsequent to the surgery?
 11 A. To a degree, not always, ideally. But,
 12 basically, they are describing the fact that she
 13 had motor weakness of the muscles of her face.
 14 Q. And I think that prior to the surgery, she
 15 did not have the motor weakness and some time
 16 during the course of the surgery, apparently,
 17 that left facial paralysis occurred or was caused
 18 to occur; would you agree with that?
 19 A. I wouldn't agree with that. During the
 20 surgery, of course. We did not see any facial
 21 paralysis. After the surgery, on the night of
 22 the surgery, I did not see any facial paralysis.
 23 The next morning when she visited me in our
 24 clinic at Mt. Sinai Hospital, I did see left
 25 facial paralysis. So, it became evident to me

1 the following day.
 2 Q. Would you agree that the left facial
 3 paralysis was the consequence of the surgery?
 4 A. It would be my opinion that it most likely
 5 is.
 6 Q. I mean, it just wasn't some coincidence
 7 that she had Bell's palsy?
 8 A. Well, we can't rule that out,
 9 unfortunately.
 10 Q. Okay.
 11 A. Because I have had patients in the past who
 12 have spontaneously developed Bell's palsy. So,
 13 that is a possibility, but I think it is more
 14 likely that it is related to the surgery.
 15 Q. When you say you have patients in the past
 16 who have developed Bell's palsy, was that as a
 17 result of the surgery, probably?
 18 A. I think it was just a spontaneous
 19 development and we don't know why people develop
 20 Bell's palsy. It is just the seventh nerve of
 21 the facial nerves fail to function
 22 appropriately. We don't know the reason. I have
 23 had patients where that happens, so I had to
 24 include that in the possibilities.
 25 Q. But, you would agree -- not to belabor

1 this, the concerns, you would agree in this case
 2 involving Carol Bozik, the Bell's palsy was a
 3 consequence of the surgery?
 4 A. I think more likely it is.
 5 Q. And, Doctor, is there anything that Carol
 6 Bozik did or failed to do that may have
 7 potentially caused that Bell's palsy?
 8 A. I don't think so.
 9 Q. Doctor, do you have a theory as to how this
 10 Bell's palsy developed in the course of the
 11 surgery?
 12 A. Yes.
 13 MR. SCOTT: Now, again, in the
 14 course of the surgery, I think that the Doctor
 15 has indicated that he can't testify that it
 16 developed actually during the course of the
 17 surgery, because the Doctor only saw her the day
 18 following the surgery.
 19 To that extent, I object, but I think I
 20 understand what you are saying. I expect the
 21 Doctor does too.
 22 BY MR. CARLIN:
 23 Q. I think that what we are saying is that the
 24 Bell's palsy developed -- probably developed as a
 25 result of the surgery; I think we could agree on

1 that?

2 A. Well, I think it is related to the surgery
3 in the following way: As a doctor, we try to
4 understand the medical consequences of our
5 treatment. And the best understanding I have is
6 that Carol's jaw -- actually, both sides were
7 very difficult to split. The operation, as you
8 mentioned before, is called a sagittal osteotomy,
9 or sagittal split. We actually have to divide
10 the jaw, the inside and the outside bony halves
11 of each side of the jaw down the middle.

12 Carol's right side -- it was difficult
13 enough to do on her left side. It was even more
14 difficult because I found that the cleavage plane
15 between the inside and outside halves of the jaw
16 seemed to be virtually nonexistent. So, her jaw
17 was much harder to separate than other patients
18 that I have.

19 The second issue is that she had extensive
20 calcification and calcified ligaments behind her
21 jaw, running from behind the jaw where the base
22 of the nerve comes out, down to the ankle of the
23 jaw. Because her jaw was so difficult to divide
24 in the process of the routine manipulation, I
25 suspect there was contusion of the facial nerve

1 by the calcified ligaments and the area was
2 subsequently very swollen and became black and
3 blue, what we call ecchymosis, but the patient
4 calls black and blue.

5 And that would be due most likely to what
6 we call retromandibular hematoma; that is, a
7 large blood clot that developed behind the jaw
8 from veins that run back there, also due to the
9 indirect contusion from the routine
10 manipulation.

11 So, we have had what I understand as
12 contusion of the nerve from the calcified
13 ligament compounded by a large hematoma from the
14 routine manipulation that continued to compress
15 the nerves. And that's probably why she has the
16 deficits she has.

17 Q. Are you saying then that the Bell's palsy
18 was caused by a compression injury as opposed to,
19 let's say, a cut of the nerve itself?

20 A. Yeah, and in that sense, I would agree with
21 you. It was not caused by a cut because we were
22 never cutting back there. It was caused by
23 indirect contusion of the nerve which happens to
24 be near these rigid and calcified ligaments and
25 onto that, she developed a very large hematoma

1 and blood clot, which continued to put more
2 pressure on that.

3 Q. Was that noted in your records?

4 A. Well, it wasn't noted that she had this.
5 What is noted in my records was that I feel the
6 condition was due to contusion and hematoma. And
7 that was noted in the records.

8 Q. Doctor, do you know as we sit here right
9 now, that you did not cut that nerve or sever
10 that nerve accidentally in the course of the
11 procedure?

12 A. I don't know how I could have, because we
13 had no instruments or anything that would do that
14 near the site of the nerve.

15 Q. You are dealing with the inside of the jaw;
16 is that correct?

17 A. Correct.

18 Q. And the nerve is located on the outside of
19 the jaw; is that correct?

20 A. The nerve is located on the outside of the
21 jaw, behind the jaw and really comes out of the
22 skull underneath the ear, at the sialo highway.
23 The sialoid process is what it is called.

24 Q. Doctor, and I think when -- how long does a
25 jaw reconstruction normally last?

1 MR. SCOTT: The time course, is
2 that what you are asking, Bill, or perhaps you
3 are talking about something else?

4 BY MR. CARLIN:

5 Q. I want to ask you a yes or no question.
6 You have done, I'm sure, many jaw
7 reconstructions?

8 A. I have done hundreds.

9 Q. And as a general proposition, how long do
10 those procedures normally last?

11 A. Generally, it takes me between two hours to
12 five or six hours per jaw, depending on the
13 complexity of the operation.

14 Q. So, you are talking about maybe one, two,
15 or three hours per side?

16 A. Yes, that would be realistic.

17 Q. Was Carol Bozik's procedure prolonged?

18 A. It was not prolonged. As a total, the
19 separating part of the jaw did take longer in her
20 case because of the heavy calcified ligaments and
21 the lack of cleavage plane. The overall
22 operation didn't take long because of the fastens
23 of the jaw and fix of her bite actually went very
24 smoothly. So, I have taken more time for other
25 patients than I took for Carol.

1 Q. Doctor, have you ever had an injury like
2 the type of injury that Carol Bozik had in any of
3 these other operations that you have performed?

4 A. I have not.

5 Q. Have you ever heard of such an injury
6 occurring in the course of a jaw reconstruction
7 procedure?

8 A. I have never seen one or heard of one for
9 this type of operation. There are some other
10 dura reconstructive procedures that involve
11 surgery around the jaw joint that can have facial
12 nerve injuries, but not this one.

13 Q. Well, in fact, cancer procedures where you
14 are removing cancers and getting into the neck
15 and so forth, sometimes --

16 A. Yes.

17 Q. -- at least, nonsurgical procedures, you
18 would see Bell's palsy-type injuries?

19 A. Yes, you can.

20 Q. And I just want to go back here though. It
21 would be your opinion -- I want you to know
22 this: I don't know that the jaw was actually
23 severed. I have seen nothing that would indicate
24 that.

25 But, it would be your opinion that you did

1 operative report dated 8/28/96. The surgeon is
2 me and the patient is Carol Bozik.

3 Q. And I think the operation code is
4 "Mandible"?

5 A. Excuse me, it's not the operative report.
6 It is the anesthesia record of the operation.

7 Q. Right. And it appeared as though -- do you
8 know who -- what anesthesiologist was involved
9 with this?

10 A. I don't remember. Let me see, Dr.
11 Hardaway, a very experienced anesthesiologist.

12 Q. Was that from Mt. Sinai?

13 A. Yes.

14 Q. Do you normally work then in conjunction
15 with an anesthesiologist on these types of
16 procedures?

17 A. Yes.

18 Q. And it appeared as though the anesthesia
19 was commenced at 7:32 in the morning?

20 A. Correct.

21 Q. And then the anesthesia ended at about
22 12:15 in the afternoon?

23 A. Correct.

24 Q. And I guess my question in regards to that
25 is, whether or not this was an unusually long

1 not sever it accidentally in the course of the
2 procedure?

3 A. Correct, because we are not working near
4 the main trunks or the main division of the
5 facial nerve. So, it would, essentially, be
6 impossible.

7 Q. But, it would be your opinion that it
8 probably would be due to a compression injury?

9 A. Yes. The contusion causes compression and
10 I would agree with that.

11 Q. You have never had this happen before?

12 A. Correct.

13 Q. And you have never seen it or heard of it
14 happening before?

15 A. Correct.

16 Q. Doctor, I think -- why don't you just mark
17 this, Janine?

18 (Thereupon, Plaintiff's Exhibit A
19 to the deposition was marked for
20 purposes of identification.)

21 BY MR. CARLIN:

22 Q. Doctor, I'm handing you what has been
23 marked as Plaintiff's Exhibit A, could you
24 identify that?

25 A. Yes. This is the second half of an

1 procedure?

2 A. No, I have had some procedures that have
3 taken much longer than this. What was unusual on
4 this record and in my observation was how
5 difficult it was for the anesthesiologist to put
6 the breathing tube in Ms. Bozik.

7 It took them an hour to do so because of
8 her very small jaw, which they found would not
9 open wide enough to allow the specialized
10 breathing tube that has to go in a very, very
11 long way through the nose instead of the mouth
12 because we were doing jaw surgery.

13 Her calcified ligaments prevented them from
14 doing the standard intubation, what we call.
15 They had to bring in standard equipment just to
16 do this for her.

17 Q. Doctor, when you went into this surgery,
18 did you anticipate that kind of difficulty?

19 A. No. I anticipated that -- her mouth was
20 small, because I examined her and I anticipated
21 that she opened slightly less than average. I
22 have here that she opened 40, but she didn't
23 really open 40, because she was already open 8
24 millimeters, because her teeth were 8 millimeters
25 off, which was below normal. But, I have

1 operated on several patients who have been
 2 operated on with that amount.
 3 Q. Doctor, I would just like to switch gears a
 4 little bit. I'm going to hand you your
 5 curriculum vitae, which I know you have probably
 6 seen before, I don't know how I got it, but I
 7 think somehow --
 8 (Thereupon, Plaintiff's Exhibit B
 9 to the deposition was marked for
 10 purposes of identification.)
 11 BY MR. CARLIN:
 12 Q. Doctor, handing you what has been marked as
 13 Plaintiff's Exhibit B, could you identify what
 14 that is?
 15 A. Yes, this is the curriculum vitae of July
 16 1997 of me.
 17 Q. Doctor, are there any areas, you know, that
 18 would need to be updated?
 19 A. Yes.
 20 Q. Do you have an updated curriculum vitae?
 21 A. I do.
 22 Q. Do you want to just use that?
 23 A. I think it would be more logical.
 24 Q. Okay. Thank you.
 25 Doctor, we have remarked Plaintiff's

1 A. I did go to medical school, but not right
 2 after the dental school. Dentistry allows
 3 certain people -- in fact, most dentists to
 4 practice right after dental school upon passing a
 5 licensing exam. So, the typical dentist goes to
 6 dental school, then goes out into practice.
 7 That's not the case for my field, which is
 8 the oral and maxillofacial surgery. You are
 9 required to do a minimum of what's now a
 10 four-year residency. Although, many oral
 11 surgeons, including me, did extra training,
 12 including medical school and general surgery.
 13 So, the sequence was dental school. Then I
 14 went to Harvard for oral maxillofacial surgery.
 15 Then I went to medical school at the University
 16 of Massachusetts. They accepted me with advanced
 17 standards because of all of the training and high
 18 grades that I had obtained.
 19 After medical school, I spent a second year
 20 residency in general surgery at Harvard, which
 21 you have to do in order to obtain a medical
 22 license. I was then hired by Emory University as
 23 an assistant professor to teach doctors how to
 24 become oral maxillofacial surgeons. They hired
 25 me because of my extensive background and the

1 Exhibit B, and this is a more updated curriculum
 2 vitae; is that correct?
 3 A. Yes.
 4 Q. This is your curriculum vitae; is that
 5 right?
 6 A. That's correct.
 7 Q. Doctor, did you grow up in New York?
 8 A. Yes.
 9 Q. And did you go to high school in New York?
 10 A. Yes.
 11 Q. And what high school did you go to?
 12 A. New York Town High School.
 13 Q. And then you went to State University of
 14 New York at Albany?
 15 A. Correct.
 16 Q. And then you went to Boston University,
 17 Boston University, and that's the School of
 18 Graduate Dentistry?
 19 A. Correct.
 20 Q. How long did that school last for, four
 21 years?
 22 A. Correct.
 23 Q. So, you go to dental school for four years?
 24 A. Right.
 25 Q. Then you went to medical school?

1 type of training I had. I did that for
 2 two-and-a-half years.
 3 Then I was recruited by the family, Mt.
 4 Sinai, in Cleveland, to Program Director and
 5 Chief of Dentistry in order to continue the type
 6 of training and education that I provide and to
 7 provide the kind of patient care that they have
 8 had or hope that I had. I believe that I did.
 9 Q. Just so I understand, Doctor, you go to
 10 dental school for four years?
 11 A. Yes.
 12 Q. After you graduated from dental school, do
 13 you take an -- did you take an examination of any
 14 kind to become certified in dentistry?
 15 A. Yes. You take the Board exam and if you
 16 pass the Board exam and fill out the appropriate
 17 form, then you become a licensed dentist in
 18 whichever state the Board exam applies.
 19 So, I could have, at that point, gone out
 20 and been a general dentist, made crowns and
 21 bridges and whatever. But, I elected to become a
 22 specialist in oral maxillofacial surgery, which
 23 is the field of jaw surgery, reconstructive jaw
 24 surgery, fixing up jaw fractures, tumors, trauma,
 25 removal of teeth, provisions of anesthesia for

1 patients having this done that requires specialty
2 training.

3 At the time, the training period was three
4 years, so I did that. At the end of that time, I
5 could have gone out and become a practicing
6 surgeon. I went beyond that. I obtained a
7 Medical Degree, which is an option.

8 Q. How long did it take you to obtain a
9 Medical Degree?

10 A. Two additional years because they gave me
11 advanced training standards in medical school
12 because of the high grades I attained.

13 Q. So, as opposed to obtaining a Medical
14 Degree, which would take four years?

15 A. Right.

16 Q. So, as we sit here right now, what are you
17 Board certified in?

18 A. Oral and maxillofacial surgery.

19 Q. Are you Board certified in anything else?

20 A. No. I am licensed in dentistry in Ohio and
21 I am licensed in medicine in Ohio, if that's what
22 you are getting at. But, I am Board certified in
23 the specialty of oral and maxillofacial surgery.

24 Q. You are licensed as a Medical Doctor in the
25 State of Ohio?

1 specialized oral and maxillofacial surgery, they
2 call me because of the extra training and
3 experience that I have.

4 And then I have privileges at Zebba,
5 Z-e-b-b-a, Laboratory Center in Lyndhurst, Ohio.
6 I am on the staff of Richmond Heights General
7 Hospital, which was called Mt. Sinai East. I'm
8 not sure of the official name now since
9 University Hospitals bought it.

10 Q. Doctor, would it be correct to say that you
11 do most of your surgery and spend most of your
12 time then at University Hospitals?

13 A. The major surgery I do at University
14 Hospitals. And the other I do in the office in
15 which you are sitting right now.

16 Q. How often do you go to University Hospitals
17 to do surgery?

18 A. Approximately, once a week.

19 Q. Does University Hospitals require you to be
20 Board certified in oral and maxillofacial
21 surgery?

22 A. I don't know if they require it, because
23 new surgeons who are joining the staff would not
24 be Board certified. It takes a number of years
25 to obtain it. So, for a new surgeon, they

1 A. Correct.

2 Q. And where is the Board of Maxillofacial --
3 Oral and Maxillofacial Surgery?

4 A. It's in Chicago.

5 Q. And do you go to Chicago to test for this?

6 A. Yes. It's a rigorous process. You have to
7 first pass a multiple-hour written examination.
8 And then for those who pass that, then you have
9 to go and appear before the Board and take and
10 all day oral examination, and as I recall, in
11 front of eight different examiners in order to
12 become Board certified.

13 Q. Do you have to be Board certified in the
14 State of Ohio in order to practice oral and
15 maxillofacial surgery?

16 A. No. Although, most hospitals -- well, let
17 me back up. You don't have to be. Most
18 hospitals would prefer that you are.

19 Q. What hospitals are you affiliated with
20 right now?

21 A. Right now, I do my primary surgery at
22 University Hospitals of Cleveland. I'm attending
23 surgeon. I'm a consulting surgeon at the VA
24 Medical Center in Cleveland. They have an oral
25 surgeon on staff, but when they require more

1 probably would not. For a surgeon who has been
2 there for a period of time, they might expect
3 that, but I don't know if it's an absolute
4 requirement.

5 Q. So, if you wanted to find out if it was a
6 requirement, how would you go about doing that?

7 A. I think, I would look at the bylaws.

8 Q. Of University Hospitals?

9 A. Yes.

10 Q. Where would you find the bylaws of
11 University Hospitals?

12 A. I probably have a copy somewhere.

13 Q. Doctor, do you sit on the Board at all of
14 this Board of Examiners, whatever it is in
15 Chicago, the Oral and Maxillofacial Surgery
16 Board?

17 A. No.

18 Q. You must go there for meetings once a year?

19 A. Yes. It's not necessarily in Chicago, but
20 the American Association of Oral and
21 Maxillofacial Surgeons, which is pretty much the
22 governing body of our specialty, holds meetings
23 several times a year. In fact, I'm scheduled to
24 go to the one in San Francisco in about one month
25 from now. And I go to several meetings a year.

1 Q. Doctor, I am kind of curious, being at
 2 University Hospitals, there was an article in the
 3 paper the other day, you might have seen that,
 4 they asked the question to the doctors who are
 5 seeking privileges there, whether they have
 6 privileges anywhere else?
 7 A. Yes.
 8 Q. Did you see that article?
 9 A. I did see that.
 10 Q. But, you are indicating that you do have
 11 privileges at other hospitals besides University
 12 Hospitals?
 13 A. Yes. The thrust of the article was not
 14 whether or not you had privileges somewhere
 15 else. It was whether you had a material
 16 financial interest in another institution.
 17 Specifically, they did not want
 18 employees -- doctors of the Cleveland Clinic
 19 having privileges at University Hospitals and
 20 potentially moving patients over to the Cleveland
 21 Clinic. I would not be in that category. I am
 22 an independent practitioner.
 23 Q. Are you presently involved with any kind of
 24 teaching position?
 25 A. Yes.

1 Q. And who are you affiliated with or
 2 associated with?
 3 A. Case Western Reserve University. I'm an
 4 attending oral and maxillofacial surgeon and
 5 Assistant Clinical Professor of Oral And
 6 Maxillofacial Surgery.
 7 Q. How much of your time do you devote to
 8 that?
 9 A. I would estimate about 15 to 20 percent of
 10 my time.
 11 Q. And actually, with University Hospitals,
 12 that is a teaching hospital and they are
 13 affiliated with Case Western Reserve Medical
 14 School; is that correct?
 15 A. That's correct.
 16 Q. So, would that consist primarily of
 17 residents?
 18 A. In my case, I primarily instruct residents.
 19 Q. You don't go in as a formal classroom
 20 setting and teach, do you?
 21 A. I have, but I generally don't.
 22 Q. So, Doctor, all of this training that you
 23 have had, I think you were finished with your
 24 training in what, about 1981?
 25 A. I was finished with the formal training in

1 oral and maxillofacial surgery in 1981. Then I
 2 went to medical school subsequent to that. I did
 3 a year of general surgery subsequent to that.
 4 So, I'm finished with all of the formal training
 5 that I had in 1984.
 6 Q. So, you graduated from high school in 1972?
 7 A. I believe, 1969.
 8 Q. Graduated, excuse me, 1969?
 9 A. Yes.
 10 Q. So, you are about 49 years old?
 11 A. Correct, almost.
 12 Q. And you went through your medical training,
 13 dental training, oral and maxillofacial surgical
 14 training in 1984?
 15 A. Correct. When high school students or
 16 college students who come to me frequently for
 17 things like wisdom teeth ask me what it takes, I
 18 say, 15 years from the time you finish high
 19 school in order to have all of the extended
 20 training to do what I do.
 21 Q. But, not all oral and maxillofacial
 22 surgeons have that extent of training, do they?
 23 A. No. The majority of them have all of the
 24 training that I have minus the medical school and
 25 the general surgery.

1 Q. So, you went to medical school where?
 2 A. At the University of Massachusetts in
 3 Worcester.
 4 Q. And then you had training where in surgery?
 5 A. In general surgery, at Harvard Surgical
 6 Service, which at that time was New England
 7 Deaconess. Now, if you read the journal, it's
 8 Beth Israel. It's two of the largest teaching
 9 institutions at Harvard.
 10 Q. How long does that training go for?
 11 A. It depends for what the objective is. My
 12 objective was one year of surgical training so I
 13 would qualify for a medical license. However, if
 14 I wanted to become a Board eligible general
 15 surgeon, I would have to have five years, but I
 16 already had a field in which I have Board
 17 eligibility, which is oral and maxillofacial
 18 surgery. And I did that and I did not want to
 19 become a general surgeon.
 20 Q. As we sit here today, do you have the
 21 training and so forth to be a general surgeon?
 22 A. No. I would have to go four additional
 23 years. But, keep in mind, a general surgeon who
 24 did those four years has no training of maxillo
 25 surgery. They would have to go many, many years

1 because they would have to become a dentist
 2 first. In addition, they would have to serve a
 3 minimum of four years.
 4 Q. So, you are kind of like one step above the
 5 general surgeons?
 6 A. For us to get in the equal point in time, I
 7 would be ahead of them.
 8 Q. Doctor, have you ever given a deposition
 9 before?
 10 A. Yes, I have.
 11 Q. And how many times?
 12 A. Dozens.
 13 Q. Have you ever been named as a Defendant in
 14 a medical malpractice suit?
 15 A. Yes.
 16 Q. How many times?
 17 A. Three.
 18 Q. The last time, could you just tell me
 19 briefly about that, what happened?
 20 A. Yes.
 21 MR. SCOTT: Objection. You may
 22 answer.
 23 THE WITNESS: I was attending
 24 surgeon at Emory University and a resident
 25 surgeon treated a patient that subsequently

1 Q. Who is the attorney for the Plaintiff
 2 involved in that case, do you recall; do you
 3 know?
 4 A. I don't.
 5 Q. Was your deposition taken in that case?
 6 A. No. That case is stayed because it is --
 7 because the primary Defendant is Mt. Sinai
 8 Medical Center.
 9 Q. And then there was another time?
 10 A. No, Ms. Bozik.
 11 Q. Oh, you are counting Ms. Bozik?
 12 A. Yes.
 13 Q. Have you ever rendered an opinion about a
 14 standard of care in a case, in a medical
 15 malpractice case?
 16 A. Yes.
 17 Q. How many times?
 18 A. Numerous.
 19 Q. When you say, "numerous," would that be
 20 more than 15?
 21 A. I think it would be in that ballpark.
 22 Actually, I probably have given approximately 15
 23 depositions, along those lines, but I have
 24 written many opinions where the cases may have
 25 been settled or dismissed.

1 developed an infection after having had a wisdom
 2 tooth removed. The patient required
 3 hospitalization for the infection.
 4 I was called to be the surgeon and I
 5 drained the infection and treated the patient.
 6 And the patient got better and had no residuals.
 7 Yet, the University was sued and I was sued. And
 8 the case was subsequently dismissed when it was
 9 realized that it was no case.
 10 BY MR. CARLIN:
 11 Q. How long ago was that?
 12 A. That would have been 1986.
 13 Q. Where was that?
 14 A. Emory University, Atlanta, Georgia. And
 15 then I had no lawsuit served until Ms. Bozik and
 16 subsequently -- well, I don't know if it is, not
 17 really the primary Defendant, Mt. Sinai Medical
 18 Center is because it was an elderly woman that
 19 had her teeth removed, complained that she still
 20 had residual bone. She went to a dentist and the
 21 lawsuit indicated there were root tips. And
 22 there are no root tips. And that case is
 23 pending.
 24 Q. Where is it pending?
 25 A. Cuyahoga County.

1 I'm primarily hired by defense attorneys to
 2 defend malpractice cases against doctors.
 3 Although, I did from time to time render an
 4 opinion on behalf of Plaintiffs when I think the
 5 case is meritorious.
 6 Q. Have you ever given a deposition in a
 7 Plaintiff's case?
 8 A. Yes.
 9 Q. And approximately, how many times?
 10 A. Eight or ten.
 11 Q. Eight or ten times you have given
 12 depositions?
 13 A. For a Plaintiff's case?
 14 Q. For the Plaintiff's cases?
 15 A. Yes.
 16 Q. So, how many times have you given
 17 depositions for the Defendant's cases?
 18 A. Twice as many, perhaps more.
 19 Q. Maybe 20 or so cases?
 20 A. Yes.
 21 Q. Doctor, have you ever prepared a list --
 22 and sometimes in the Federal Courts they call it,
 23 Civil Rule 23 List, that lists all of your
 24 depositions?
 25 A. No.

1 Q. You have never done that?
 2 A. No, no.
 3 Q. The cases that you have rendered opinions
 4 on behalf of Plaintiffs for, were they primarily
 5 in Cuyahoga County or primarily outside of
 6 Cuyahoga County?
 7 A. Primarily, outside of Cuyahoga County --
 8 yeah, outside of Cuyahoga County.
 9 Q. The case -- so, you have had about 8 to 12
 10 cases where you have rendered opinions on behalf
 11 of Plaintiffs, and most of those have been
 12 outside of Cuyahoga County?
 13 A. Yes.
 14 Q. Could you tell me any cases where you have
 15 rendered an opinion and given a deposition that
 16 were inside of Cuyahoga County?
 17 MR. SCOTT: For the Plaintiff?
 18 MR. CARLIN: For a Plaintiff,
 19 yeah.
 20 THE WITNESS: I cannot think of
 21 one. Basically, we run into conflict of
 22 interests all of the time. So, that's why that
 23 doesn't come up very often.
 24 BY MR. CARLIN:
 25 Q. Of the opinions that you have rendered on

1 behalf of your colleagues in the medical
 2 profession and Defendants in general, have the
 3 primary -- where you have rendered depositions --
 4 given depositions and rendered opinions on behalf
 5 of Defendants and doctors, are they primarily in
 6 Cuyahoga County?
 7 A. That will vary, probably more in Cuyahoga
 8 County and not only because I know the prominent
 9 defense attorneys in this county, but I get calls
 10 from Toledo, Akron, Columbus, Cincinnati, and
 11 Charleston, West Virginia to defend doctors. And
 12 I will say that I have a pretty extensive
 13 reputation in this field and that's why they
 14 called me.
 15 MR. CARLIN: Okay. Off the
 16 record for a second.
 17 (Thereupon, a discussion was
 18 held off the record.)
 19 BY MR. CARLIN:
 20 Q. Doctor, do you keep the depositions that
 21 you give?
 22 A. I might. It is hard to say, because not
 23 being an attorney, I don't keep the legal records
 24 in the same way that I keep patients' medical
 25 records. So, it may be haphazard.

1 Q. So, you might have a box of depositions
 2 that you have looked over --
 3 A. Yes.
 4 Q. -- laying around somewhere?
 5 A. Yes.
 6 Q. If they were laying around somewhere, where
 7 would they be?
 8 A. In my attic.
 9 Q. At home?
 10 A. Yes.
 11 Q. Have you ever rendered an opinion on a case
 12 that might be similar to the case that we are
 13 discussing here?
 14 A. I have never seen this type of
 15 complication. So, I never would be in a position
 16 to render a deposition. I probably have rendered
 17 opinions regarding orthognathic cases, surgery
 18 case where a person may have brought a suit
 19 against a doctor.
 20 Q. When was the last time you ever rendered an
 21 opinion in an orthognathic case on behalf of a
 22 Plaintiff; as we sit here now, when was the last
 23 time?
 24 A. I just don't remember and I apologize.
 25 Q. That's fair.

1 A. Not being an attorney, I don't keep that
 2 same file in my brain. So, I just cannot give an
 3 accurate answer.
 4 Q. Would it be correct to say that most of the
 5 opinions that you have rendered in regards to a
 6 standard of care have involved jaw
 7 reconstruction?
 8 A. No.
 9 Q. How many cases have you rendered an opinion
 10 in -- or what percentage of cases that you have
 11 rendered an opinion in on behalf of a Plaintiff
 12 or a Defendant regarding a standard of care have
 13 involved jaw reconstruction?
 14 A. I can tell you in general, it would be a
 15 relatively small percentage because you look at
 16 the practice of an oral and maxillofacial
 17 surgeon, even a surgeon like myself, who does a
 18 relatively high number of these cases compared to
 19 my peers, still I do many more of other kinds of
 20 cases.
 21 So, assuming cases are filed on a
 22 percentage basis, you know, see problems in this
 23 kind of case, and this kind of case, and this
 24 kind of case, more of the cases involve other
 25 types of surgery. So, more of the complications

1 involve other types of surgery, and therefore,
 2 more of the lawsuits involve other types of
 3 surgery.
 4 Q. In regards to Plaintiff's attorneys who
 5 have contacted you, have any Plaintiff's
 6 attorneys ever contacted you where you have
 7 rendered an opinion regarding a standard of care
 8 more than once?
 9 A. Yes.
 10 Q. And what Plaintiff's attorney would that
 11 be?
 12 A. Mr. Mester of Nurenberg, Plevin has called
 13 me occasionally. I don't remember the cases.
 14 Q. Did you give depositions in those cases?
 15 A. I think so. Again, I don't have the
 16 clarity that you are hoping for this because it
 17 doesn't involve Ms. Bozik.
 18 Q. I understand that.
 19 A. Right.
 20 Q. Believe me, that sounds fair to me. I can
 21 understand that.
 22 A. I have done criminal defense cases for
 23 Gerald Messerman where a doctor has been
 24 criminally accused of an accident and have
 25 successfully defended the client.

1 Q. You mean, they have been criminally accused
 2 of assaulting someone or something like that?
 3 A. Yes. I have given testimony for the State
 4 of Ohio and the State versus a doctor who was
 5 accused of Medicaid fraud. I have given grand
 6 jury testimony in a similar case.
 7 Q. On behalf of the State of Ohio?
 8 A. Yes. So, I am very experienced.
 9 Q. Have you ever rendered an opinion regarding
 10 a gentleman by the name of Dr. Smirnoff?
 11 A. That doesn't sound familiar, but something
 12 vague about that, and perhaps, I have.
 13 Q. I understand that we are talking here. I'm
 14 not going to come out and say, "Hey, you said
 15 this or didn't say this."
 16 A. Yeah.
 17 Q. I understand you are talking in terms of
 18 it's kind of vague, and in generalized terms?
 19 A. Right.
 20 Q. Have you ever testified in Court in
 21 Cuyahoga County about a standard of care?
 22 A. I'm certain that I have. In fact, a case
 23 now comes to mind. I was acting on behalf of
 24 defending dentists in a multiple doctor suit.
 25 The case was Lane, L-a-n-e, versus multiple

1 doctors. Kirby was the first name and I was
 2 defending him.
 3 Q. Was he a maxillofacial surgeon?
 4 A. He was a dentist. This is a patient who
 5 had oral cancer and went on to have multiple
 6 operations for resection and reconstruction of
 7 her jaw and oral cancer. And the patient felt
 8 that many of her caregivers had misdiagnosed or
 9 overlooked the diagnosis of cancer and brought
 10 suit against all of these doctors. And that was
 11 last year. The case took a month.
 12 Q. And that was the last time you could recall
 13 testifying in Court?
 14 A. Yes.
 15 Q. What percentage of your time would you say
 16 that you spent rendering opinions, reviewing
 17 cases in regards to standards of care?
 18 A. Five percent.
 19 Q. Doctor, in a sagittal osteotomy, isn't
 20 there a certain amount of resection involved with
 21 the jaw?
 22 A. I don't know if "resection" is the right
 23 word. You have to divide the jaw and reposition
 24 it.
 25 Q. Within the past two years, have you given

1 any depositions in regard to a standard of care?
 2 A. Yes.
 3 Q. Did any of those cases involve a jaw
 4 reconstruction?
 5 A. Yes.
 6 Q. Would it be correct to say the last re --
 7 what would be the last jaw reconstruction case
 8 that you believe you have rendered an opinion
 9 about a standard of care that you have given a
 10 deposition in?
 11 A. Actually, I did give a deposition,
 12 approximately, six months ago on behalf of a
 13 Plaintiff for a patient who had jaw
 14 reconstruction after facial fractures. And the
 15 jaw reconstruction was believed by the Plaintiff
 16 to have been done improperly.
 17 And I reviewed the case and agreed, and
 18 gave a deposition explaining my opinions. The
 19 case is filed either in Hamilton County, which I
 20 think is Cincinnati. And I think that case is
 21 scheduled for trial in a couple weeks. So, I
 22 might have another opportunity to testify live.
 23 Q. Who is the Plaintiff's attorney in that
 24 case?
 25 A. Donald Moore, M-o-o-r-e.

1 Q. Is he in Cincinnati?
 2 A. Yes. I cannot give you his address because
 3 I will not be able to find a file.
 4 Q. And the Defendants have taken your
 5 deposition in that case?
 6 A. Yes.
 7 Q. Prior to that, can you remember the last
 8 time you rendered an opinion about a standard of
 9 care on behalf of a Plaintiff where you gave a
 10 deposition?
 11 A. It is just not clear to me. I just don't
 12 keep that in my memory bank.
 13 Q. Do you keep any kind of a written chronicle
 14 regarding the cases that you appear on where you
 15 rendered an opinion about a standard of care?
 16 A. No, I don't.
 17 Q. And you have actually never made any kind
 18 of chronicle for anybody of the cases you have
 19 appeared on or given deposition in?
 20 A. Correct.
 21 Q. Doctor, are you married?
 22 A. Yes.
 23 Q. Do you have any children?
 24 A. Yes.
 25 Q. How old are your children?

1 A. Twenty as of two days ago, and 15.
 2 Q. And have you only been married one time?
 3 A. Correct.
 4 Q. Do you live in the Cleveland area?
 5 A. Yes.
 6 Q. And what city do you live in?
 7 A. Shaker Heights.
 8 Q. Doctor, I just want to go back.
 9 You are presently employed by whom?
 10 A. Myself.
 11 Q. You don't operate as a corporation?
 12 A. Correct.
 13 Q. And how long have you been employed under
 14 these present circumstances?
 15 A. I believe I started complete solo private
 16 practice July 1, 1999. And that is when I was
 17 completely responsible for not only my own
 18 business, but also hiring and maintaining
 19 employees and employee records, et cetera.
 20 Before that, I would lease the employees from the
 21 Mt. Sinai Medical Center.
 22 Q. Prior to this, or at least the time in
 23 1996, during the jaw reconstruction surgery on
 24 Carol Bozik, you were an employee of Mt. Sinai?
 25 A. I was an employee of Mt. Sinai and I was

1 also in private practice simultaneously.
 2 Q. How did Carol Bozik come into this whole
 3 equation; was she a patient of yours, or Mt.
 4 Sinai, or a patient of yours?
 5 A. She was a private patient of mine. She was
 6 referred directly from Dr. John White, an
 7 orthodontist, directly to me. And I saw her in
 8 my office, which was originally on Park East
 9 Drive off Chagrin Boulevard.
 10 Q. Doctor, handing you -- why don't you mark
 11 that -- why don't you mark that?
 12 (Thereupon, Plaintiff's Exhibit C
 13 to the deposition was marked for
 14 purposes of identification.)
 15 BY MR. CARLIN:
 16 Q. Doctor, I am handing you what has been
 17 marked as Plaintiff's Exhibit C, could you
 18 identify what that is?
 19 A. Yes. This is my Consent for Orthonagthic
 20 Surgery. This is the one initialed and signed by
 21 Carol Bozik 8/96.
 22 Q. And I think you have the original right
 23 there in your file?
 24 A. Yes, I do.
 25 Q. Dr. Hauser, is there anything in this

1 particular consent form that would have addressed
 2 the injury that was probably sustained in the
 3 course of the surgery that Carol Bozik had, in
 4 particular, the Bell's palsy?
 5 A. As a matter of fact, there is. Although,
 6 that would be unexpected, so it's not listed as
 7 such. But, we do have some generalized
 8 statements that would cover some of these unusual
 9 occurrences.
 10 And if we go to Number 5.c, we have "Jaw
 11 joint, TMJ difficulty or pain," which I think is
 12 a complaint of Ms. Bozik. She complains of some
 13 headaches right around the jaw joint. And in the
 14 following sentence we have, "Facial muscle
 15 function may possibly be reduced." And that
 16 would cover what is wrong with her.
 17 She cannot, as I understand her deposition,
 18 completely close her eye and that is due to the
 19 fact that the facial muscle which controls that
 20 and the function is reduced. So, I would say
 21 that pretty clearly would encompass that.
 22 Q. But, are those the only things in here that
 23 you would say that address the problems that
 24 Carol Bozik has now?
 25 A. Well, she has other problems which we

1 addressed. The things that we anticipated, for
2 example, are stated: Pain, she had pain. She
3 had quite a lot of swelling, which I have
4 circled. The reason I circle that is because
5 that can be very extensive in some patients and
6 it certainly was for Carol.

7 She had a lot of bleeding, discoloration,
8 which is the black and blue, and which she had
9 extensively on the left side, where I postulate
10 with medical certainty that she had
11 retromandibular or some other hematoma.

12 The numbness and tingling of the lip,
13 tongue, chin, gums, cheeks, and teeth, which may
14 be temporary or permanent. She has not been back
15 to see me as I requested, but she probably had
16 some degree of numbness in the lips, tongue, and
17 which I told her she would, and she agreed.

18 And initially, that she might have some
19 shifting of the jaw after the surgery requiring
20 some additional orthodontics. And that was
21 stated in Number 5.b.

22 She does have some limitation of jaw
23 openings which may be permanent. Again, that's
24 stated in point Number 5.c. So, you can see we
25 have what I would consider very thorough and very

1 A. Right.

2 Q. Is that what you are saying?

3 A. Yes. For example, if you came for an
4 opinion about surgery and I spent an hour
5 informing you of all kind of things that could
6 not possibly happen, what good would that
7 possibly do? It would not. So, the informed
8 consent either lists the things that will happen,
9 or rare occasion, might happen. And that is
10 logical informed consent.

11 Q. Again, I just want to make sure I
12 understand this.

13 When Carol Bozik came to you and you gave
14 her a very inclusive consent for the orthonagthic
15 surgery, you did not inform her of the risks of
16 Bell's palsy or a facial paralysis prior to her
17 having that surgery?

18 A. I did not inform her of the risk of Bell's
19 palsy. I did inform her of the risks of the
20 facial paralysis and that facial muscle could be
21 reduced. We did not talk about Bell's palsy, nor
22 would I want to discuss something that one has
23 never seen and not likely to occur.

24 Q. Let me say this: You would, at least,
25 agree that you did not inform her of the

1 extensive informed consent, and I believe beyond
2 the standard that is met by most other doctors in
3 other surgical disciplines.

4 Q. But, Dr. Hauser, you are not suggesting
5 that she was informed of the risks of having left
6 facial paralysis permanently, are you?

7 A. Well, since she does have reduced muscle
8 facial function, which that clearly is, and it
9 does state that in a general consent.

10 Specifically, one cannot say that, but you cannot
11 specifically inform somebody of some occurrence
12 that you have never seen and would never
13 anticipate. That would be totally illogical.

14 How can one put that in an informed consent?

15 Q. That's fair enough. But, what I am saying,
16 in the informed consent, you are not suggesting
17 that Carol Bozik was informed of the risk of
18 having the facial paralysis that she now has, are
19 you?

20 A. She could not have been, by any logical
21 standard.

22 Q. And I understand what you are saying is
23 that you couldn't possibly have anticipated that,
24 so you couldn't inform her of that potential
25 risk?

1 potential risks of the injury that she now has;
2 would you agree with that?

3 I mean, yes or no?

4 A. No, I would have to disagree. She was
5 informed that facial muscle function could be
6 decreased. She was not informed that she could
7 return similar to a Bell's palsy.

8 Q. You are saying that -- okay. Why don't I
9 try this again so I have an answer on this. You
10 can answer me the question. First, I will give
11 you an opportunity to explain. I want to hear
12 your explanation. I want to understand what your
13 explanation is.

14 How could you inform someone of something
15 that is not even on the radar screen?

16 A. Correct. You have answered your own
17 question.

18 Q. I understand that. But, what I am saying
19 to you is this: that when Carol Bozik underwent
20 her surgical procedure, the jaw reconstruction on
21 August 28th, she was not informed of the
22 potential risks of the injury that she now
23 suffers from?

24 MR. SCOTT: Objection.

25 THE WITNESS: I would have to

1 disagree based upon my previous answer, that she
2 was definitely informed that facial muscle
3 function could be reduced. I read this to her
4 word-for-word. I believe she would even testify
5 or did testify that that was the case.

6 So, in that sense, I did, but it does not
7 say here that you could have an injury similar to
8 Bell's palsy with facial paralysis. Why would
9 it? Nobody in here -- because that would not be
10 seen. It would be illogical for me to use her
11 valuable time that way.

12 BY MR. CARLIN:

13 Q. So, I see, from what I am understanding,
14 what you are saying, you never anticipated such
15 an injury, so there is no surgery under which you
16 would tell her about a potential injury, would
17 you?

18 A. In this operation, you are correct. There
19 are other operations we are deliberately
20 dissecting along the facial nerve where we do
21 discuss that. We did not dissect along the
22 facial nerve here, so we cannot discuss that.

23 Q. So, the injury that Carol Bozik presently
24 has, we could agree that it is a permanent facial
25 paralysis; would you agree with that?

1 A. Well, I don't know what degree of injury
2 she has because I have not seen her for a number
3 of years. But, to whatever degree of dysfunction
4 she has, if she has any, since it has been four
5 years, I would agree that it is permanent, but I
6 don't know what degree of injury she has.

7 Q. And are you saying that the injury that she
8 had would have been covered in regard to consent
9 and in regard to addressing potential risks from
10 a surgery by part 5.c that says, "Facial muscle
11 function may possibly be reduced"?

12 A. Yeah, in a broad sense, I would have to
13 agree. In a specific sense, no, but in the
14 specific sense, we cannot get consent. You
15 cannot advise somebody of something that you have
16 never seen or heard of happening.

17 Q. That's fair enough. So, you would agree,
18 though, in a specific sense, you did not inform
19 Carol Bozik of the risks from this surgery that
20 she had from the injury that she presently
21 suffers from?

22 MR. SCOTT: Objection.

23 THE WITNESS: I think we answered
24 that already. I could not inform her that she
25 might have an injury similar to Bell's palsy,

1 because one could not anticipate that, so one
2 could not inform that.

3 But, we did inform her that you could have
4 muscle function. From time to time, we see
5 that. So, in a general sense, she was advised
6 that she could have some muscle facial
7 dysfunction. That's the best answer I can give.

8 MR. CARLIN: Just let me ask
9 you, and I'll move on and chase another rabbit.
10 But, I would like some specific sense, yes or no,
11 did you inform Carol Bozik of the risks from this
12 surgery that she presently suffers from right
13 now?

14 MR. SCOTT: Bill, I object. I
15 think the Doctor has answered that three times
16 now.

17 MR. CARLIN: I know you did,
18 Doctor, and if you could just say "yes" or "no."

19 THE WITNESS: I would have to
20 defer to counsel. I have answered it in a very
21 clear and concise fashion.

22 MR. CARLIN: I know you have
23 answered it very clearly and concisely, maybe.
24 Why don't I ask you this way:

25 You and I agree when Carol Bozik had the

1 surgery, she was not informed of the risks from
2 that surgery that she presently suffers from;
3 would you agree with that?

4 MR. SCOTT: Objection.

5 THE WITNESS: No, I disagree.
6 She was informed extensively of the risks of the
7 surgery and what other risks, of the facial
8 muscle function which could be reduced. And
9 right now, she has reduced muscle facial
10 dysfunction. This informed consent is thorough.
11 Carol Bozik initialed everything. She clearly
12 remembers doing so.

13 And she also doesn't necessarily remember
14 what she signed, because she was actually very
15 surprised after her surgery when she had came
16 back and had swelling and numbness and all of
17 these things, she said, "You didn't tell me about
18 this." And I said, "Carol, let's look at this."
19 And then she had recall.

20 MR. CARLIN: But, you didn't
21 tell her about Bell's palsy, did you?

22 THE WITNESS: No. One would not
23 tell a patient about Bell's palsy for this
24 operation.

25 MR. CARLIN: You didn't tell her

1 about facial paralysis, did you?
 2 MR. SCOTT: Objection. Because
 3 Doctor has again testified as to that particular
 4 point where we were talking about reduction of
 5 muscle function.
 6 THE WITNESS: Yeah. In the sense
 7 that paralysis, you know, caused reduction of
 8 muscle function, she was advised of that. Did I
 9 use the word "paralysis"? No.
 10 BY MR. CARLIN:
 11 Q. I mean, there would be no question that the
 12 surgical procedure did not turn out as you
 13 anticipated; would that be correct to say?
 14 A. I would agree with respect to the motor
 15 injury around the face, yes. In other aspects,
 16 the surgical treatment did turn out as
 17 anticipated.
 18 Q. Not in regards to the motor --
 19 A. Yes, I would agree that that was an
 20 unintended outcome of the surgery.
 21 Q. For which she was not told?
 22 MR. SCOTT: Objection. That's
 23 really the same question now, about multiple
 24 times, Bill. And so, we have gone over the
 25 business and I think Doctor has explained it very

1 well several times now.
 2 You know, I know what you are trying to
 3 accomplish and I give you credit for going back
 4 at it all of these times, but that's enough.
 5 BY MR. CARLIN:
 6 Q. All right. Doctor, would you agree that
 7 this is a complication that Carol Bozik suffers
 8 from now that doesn't normally occur?
 9 A. Yes, I would agree with that.
 10 Q. Doctor, would you agree that it is a
 11 complication that has -- the left facial
 12 paralysis that Carol Bozik suffers from is a
 13 complication that does not normally occur in the
 14 absence of something going wrong?
 15 MR. SCOTT: Objection. Now,
 16 first of all, I want to object to the word
 17 "normally." And I want to know if you are using
 18 that interchangeably with "anticipated" as
 19 opposed to "normally."
 20 MR. CARLIN: Normally. I'm
 21 using it in the context in which it's normally
 22 used, which is normal.
 23 MR. SCOTT: But, the input of
 24 this issue is simply that, can this kind of thing
 25 happen even when due care is used; is that what

1 you are asking?
 2 MR. CARLIN: What I am asking is
 3 what I was asking. Why don't you reread the
 4 question and see how that works?
 5 (Thereupon, the Reporter read
 6 the record as requested.)
 7 BY MR. CARLIN:
 8 Q. Would you agree with that?
 9 A. I don't follow your wording. It is an
 10 unanticipated consequence of the surgery. Now,
 11 the surgery was done correctly in that she had
 12 this complication as a result of her particular
 13 anatomical problems. So, nothing was done wrong,
 14 but that doesn't mean she didn't have an outcome
 15 that was unsatisfactory.
 16 Q. Would you agree that this is the type of
 17 injury that does not normally occur in the
 18 absence of medical negligence?
 19 MR. SCOTT: Objection. That's
 20 the same question.
 21 THE WITNESS: Yeah. This injury
 22 occurred -- I just want to make sure I am stating
 23 this clearly. There was no negligence anywhere
 24 which caused this particular problem.
 25 BY MR. CARLIN:

1 Q. And would it be your opinion that the
 2 procedure on Carol Bozik that you performed,
 3 there was no deviation from the standard of care?
 4 A. That is my opinion.
 5 Q. Okay. Doctor, going over to your notes,
 6 and I've got them here somewhere. And I think
 7 probably these are the same notes that I have a
 8 copy of that you have right there and if the
 9 originals -- why don't you just mark this whole
 10 packet?
 11 Is that okay with you, John?
 12 MR. SCOTT: Yes, it is, as long
 13 as we identify them one, two, three, seven or
 14 eight -- you know what, you better mark all eight
 15 of them. This way we can shoot through them.
 16 (Thereupon, Plaintiff's Exhibits D
 17 through L to the deposition were
 18 marked for purposes of
 19 identification.)
 20 BY MR. CARLIN:
 21 Q. Handing you what has been marked as
 22 Plaintiff's Exhibit D, could you identify what
 23 that is?
 24 A. Yes. This is the operative report done by
 25 me for patient, Carol Bozik, 8/28/96.

1 Q. Doctor, why don't you just describe the
2 operation, if you can?

3 First of all, what time did Carol Bozik
4 come into the Mt. Sinai Medical Center?

5 A. I would have to look at other information,
6 which we don't have. But, she would have come
7 in, approximately, 6:30 in the morning. She
8 would have been admitted the day of the surgery,
9 which is typical. She would be presented in the
10 preoperative area, assessed by me, the doctors
11 who work with me, and the anesthesia team.

12 She was then brought to surgery. She was
13 put to sleep with difficulty, as we mentioned
14 before, by the anesthesiologist. When she was
15 put to sleep, we commenced surgery.

16 In oral surgery, it is hard to describe
17 without a visual head. But, you essentially make
18 an incision in the back of the jaw and expose
19 what we call the ramus of the mandible, which is
20 the back of the jaw, which is -- to do that, you
21 have to use various drills and saws to create a
22 cleavage plane.

23 Then you begin separating the inside of the
24 bone from the outside of the bone along the
25 cleavage plane while protecting the nerve that

1 to the new length so the bite is correct. You
2 put miniature screws in to keep it in the same
3 length and put it back together and test to make
4 sure everything is correct. (Indicating.)

5 Q. Doctor what time, approximately, did her
6 procedure begin?

7 A. I think she went into the operating room at
8 7:30 and we might have started the surgery,
9 approximately, 8:30.

10 Q. And then, when she enters -- when she
11 entered the operating room, did they begin
12 anesthesia immediately?

13 A. I think they do. That's generally why the
14 anesthesiologist is there.

15 Q. Because it indicates that the anesthesia
16 started at 7:32?

17 A. Okay. Then I would accept that's when they
18 began the process of anesthesia.

19 Q. And there is also an indication on
20 Plaintiff's Exhibit A, that the procedure started
21 at, approximately, 8:33; does that sound about
22 right?

23 A. Yes.

24 Q. And it also indicates that the procedure
25 ended at about 11:53; does that sound about

1 gives feelings to your lip and teeth. We were
2 able to do that successfully.

3 It was very difficult to split her jaw
4 because her cleavage planes were less prominent
5 than most patients. Then we discovered that she
6 had these difficult attachments due to calcified
7 ligaments, which made her operation harder than
8 most, especially on the left side where she had
9 unusual calcification and very, very minimal
10 cleavage plane.

11 Q. It seems on the right side you didn't
12 confront too many difficulties, at least, from
13 your notes?

14 A. Yeah. I do recall it was somewhat more
15 difficult to split than average, but not as
16 difficult as the left side which was much more
17 difficult to split than average.

18 Once we successfully split both sides, you
19 can then move the portion of the jaw containing
20 the teeth forward and keep the portion of the jaw
21 containing the side of the jaw and the jaw joint
22 back where they started.

23 So, you literally lengthen the jaw, which I
24 am describing, which I know the court reporter
25 cannot pick up which ones. You lengthen the jaw

1 right, too?

2 A. Yes.

3 Q. Doctor, in regards to Plaintiff's Exhibit
4 D, that's the operative report, you indicated
5 that "Care was taken to avoid the nerve at all
6 times." What nerve were you talking about?

7 A. The mandibular nerve, the nerve that gives
8 feelings to the teeth, gums, and lips.

9 Q. But, not the facial nerve?

10 A. Well, yes, that is not an operative field.
11 So, that would not be discussed in this
12 operation.

13 Q. You dictate -- when did you dictate this
14 operative report?

15 A. I dictate immediately after surgery and I
16 train the doctors to do the same. And this one
17 was dictated by me, by the initials, "M.H." I
18 dictate my own operative reports in general.

19 Q. I think you indicate somewhere here, and I
20 don't see exactly where it was, but "The left
21 mandible began to split unfavorably involving the
22 buccal cortical segment"?

23 A. Correct.

24 Q. Could you just explain that?

25 A. Yes, literally splitting. The correct

1 pronunciation is buccal, which is the outside
2 layer of the bone from the lingual, or the inside
3 layer of the bone in order to create that
4 advancement.

5 In her case, because there was no actual
6 cleavage plane like we find in most patients, it
7 did not want to split along the normal cleavage
8 plane and began splitting in a way that -- in a
9 direction that we don't want it to. So, we have
10 to modify how we do the cutting and splinting in
11 order to just redirect it.

12 Q. How long was the left side retracted for?

13 A. I don't know, specifically. It would have
14 been somewhat longer than the right side because
15 I remember the left side was harder to do. But,
16 I have retracted jaws for greater length of time
17 than Carol's because I have had jaws even more
18 difficult than this.

19 Q. And what about not only in regard to the
20 length of the retraction, but what about the
21 retraction -- I guess, the strength of the
22 traction?

23 A. Yeah.

24 Q. Was there anything unusual about that?

25 A. No, only that all of her tissues were what

1 Q. Well, we have discussed before the
2 possibility of it being a compression-type of
3 injury?

4 A. Yes.

5 Q. Would that have been compressed as a result
6 of the retractors?

7 A. No.

8 Q. It would have been compressed as a result
9 of the hematoma that you described?

10 A. Yes, that would have definitely been part
11 of that.

12 Q. Well, what caused the hematoma?

13 A. The indirect pressure or contusion of the
14 back of the jaw and all of those calcified
15 ligaments behind the jaw, which run up to the
16 facial nerve, as you are manipulating the jaw in
17 the standard fashion to get this very, very stiff
18 and rigid jaw to separate.

19 The indirect compression on the
20 retromandibular vein and the retromandibular
21 nerve as it runs right next to the highly
22 calcified ligaments would have caused this
23 injury, in my opinion.

24 Q. Doctor, I think I have handed you what has
25 been marked -- what was previously marked as your

1 we described, which was tight. There was no
2 resiliency to them. But, the retractors go along
3 the bottom of the jaw. The nerve that comes out
4 of the skull is up here, so the retractors are
5 never near her nerve. (Indicating.)

6 The retractors are just merely pulling the
7 lip and the gum, various tissues away. If she
8 had an injury due to the retractors, she would
9 have been basically localized at this part of her
10 face. So, it doesn't even follow. (Indicating.)

11 Q. Doctor, you are rubbing with your left
12 hand?

13 A. The lip and chin area. (Indicating.)

14 Q. The lip and chin area?

15 A. Right, right. And from time to time, we do
16 operations where we have retractors near what we
17 call the marginal mandibular branch of the
18 nerve. We have to retract for a period of time.
19 You can have weakness of the muscles of the lip
20 and chin.

21 But, Carol's injury is really along her
22 eyes. It really has nothing to do with the
23 retractors. The retractors are near the part of
24 the facial eye that goes up, nerves that go, you
25 know, to the eye. (Indicating.)

1 notes, as Plaintiff's Exhibit B, and it runs
2 really through Plaintiff's Exhibit L. I would
3 just like to briefly ask you some questions about
4 those.

5 I think on Plaintiff's Exhibit F, and I
6 think this was a note from the front page which
7 apparently is 1/25/96, that's the first time you
8 ever saw her?

9 A. That's correct.

10 Q. Carol Bozik?

11 A. Yes.

12 Q. And she was referred to you by Dr. White?

13 A. Yes.

14 Q. And I think you wrote in your notes here,
15 you explained "numbness permanent," as
16 "possible," I think you write that on there?

17 A. Yes.

18 Q. And how do you explain that?

19 A. When a patient comes to the initial
20 consultation, I, in addition, to explaining what
21 type of surgery and a little bit about the
22 surgery, I explain what some of the side effects
23 are going to be. And I explained some of them,
24 more common ones.

25 The reason I have found that it is

1 beneficial to do that right at the initial
2 consult, even though we get more extensive,
3 detailed written informed consent later on, I
4 think it's fair to a patient to have a pretty
5 good idea what you are getting into, because many
6 patients grossly underestimate the side effects
7 of this kind of surgery.

8 And some of them after hearing that they
9 might be permanently numb, especially, or might
10 have to have extended time off from work, or they
11 might bleed where they need transfusion,
12 sometimes once they hear that, they don't want to
13 go any further, don't want to continue with the
14 whole procedure.

15 So, I explain up front some of the more
16 major problems that we see on a more routine
17 basis just to let the patients know what they are
18 getting into because I don't want a patient to
19 come back several months later, and now, be hit
20 with, "What? You never told me I could be numb,"
21 or "I would never need several weeks off," or
22 "You never told me I might need a transfusion."

23 Q. Do you have an independent recollection of
24 explaining all of these things to Carol Bozik?

25 A. I have an independent recollection that I

1 Q. I am just kidding you.

2 Doctor, when you make these notes -- and I
3 note that on the next page, and I think it's been
4 marked as Plaintiff's Exhibit G, I note that
5 these notes were actually made on the date of the
6 surgery; this is on August 28th of '96?

7 A. Correct.

8 Q. Do you make these notes first, or do you
9 dictate the surgical procedure for your operative
10 report first?

11 A. That can vary. It sometimes depends where
12 I am. If I am in my office and I have the chart
13 here, I make the notes first, and then I'll
14 dictate. If I am sitting in the operating room,
15 or recovery room, or something and I don't have
16 my chart, then I do the dictation. I can't tell
17 you which one.

18 Q. Is the dictation equipment right in the
19 operating room?

20 A. It's not right in the operating room, but
21 it's nearby. You can dictate nearby.

22 Q. So, when you dictate into that equipment,
23 who eventually comes and types it out for you?

24 A. I don't know. I think it's the MRC Group.
25 It's a corporation that does transcription.

1 explained the standard things that I explain, and
2 I do remember that Carol was unusually
3 inquisitively, much more so than other patients.
4 She was unusually nervous than most patients.

5 She also stands out in my mind, even in
6 preparation for legal action. Carol is unique in
7 my mind because of some of her preexisting
8 problems.

9 Q. She pretty much had extensive preexisting
10 problems?

11 A. Yes.

12 Q. I mean, she had gone through quite a few
13 female procedures and so forth?

14 A. Yes.

15 Q. On 8/8/96, you indicate that you reviewed
16 the risks of surgery?

17 A. Yes, I did.

18 Q. And is that essentially the risks that we
19 have discussed as contained in Plaintiff's
20 Exhibit C?

21 A. Yes.

22 Q. You don't want to go down that path again,
23 do you?

24 MR. SCOTT: No.

25 BY MR. CARLIN:

1 Q. And is that a regular dictation machine or
2 does it go into a computer of some kind?

3 A. I think, at Mt. Sinai it was into a phone.
4 You call a certain phone number and it goes into
5 a dictation system that the MRC Group runs.

6 Q. Doctor, who was present in the surgical
7 room at the time of this procedure?

8 A. I would have to -- Dr. Troy Frazee.

9 Q. Was Dr. Frazee a resident?

10 A. Yes, he would have been my assistant.

11 Q. Where do you see Dr. Troy Frazee?

12 A. He's listed on the operative report.

13 Q. He's assistant surgeon, Troy Frazee?

14 A. I would have to look at the possibility,
15 the operating room record, or the hospital record
16 that I don't have here. It would probably tell
17 me if some of the other residents were there.

18 Q. Are there any nurses there?

19 A. Yes. And you have a scrub nurse and a
20 circulating nurse.

21 Q. Do you know who the scrub nurse and
22 circulating nurse were?

23 A. No.

24 Q. If I were to ask you when you have an
25 opportunity at some time, when you have a free

1 moment, would you be able to check and find out
2 who the scrub nurse and the circulating nurse
3 were?

4 A. Yes. We may be able to do it today.

5 MR. SCOTT: It would be in your
6 records, I presume.

7 MR. CARLIN: Yeah, it would be
8 in my records. I don't know if I have it right
9 here. I know I couldn't identify who they were.

10 THE WITNESS: Yeah. I might be
11 able to, if you have the Mt. Sinai record. The
12 operating room team keeps records of who is in
13 the room. I can honestly say that for them to be
14 of help to you would be minimal, because they
15 would probably have no recollection, because
16 nothing visible happened in this case.

17 You know, it wasn't as if it was something
18 that they would note, "Oh, there was a lot of
19 hemorrhage," or "This patient had to arrest and
20 we had to revive the patient." There was nothing
21 that I believe, that can enlighten you to as much
22 as you would try to find out.

23 BY MR. CARLIN:

24 Q. Troy Frazee, who is that?

25 A. He was the surgical resident, the main

1 A. I will do that if I can.

2 Q. Will you do that, please?

3 A. Yes.

4 MR. CARLIN: if you can. Does
5 that sound fair, John?

6 MR. SCOTT: It sounds fair,
7 except if you have the record, we might just do
8 that right now.

9 MR. CARLIN: Yeah, I would
10 presume to do it right now.

11 MR. SCOTT: But, I mean, do you
12 have the operating record for the nurses present
13 here?

14 MR. CARLIN: No, I don't. I do
15 not have it. I don't really know, because, I
16 mean, I don't see -- how long was Carol Bozik in
17 the hospital?

18 THE WITNESS: I believe, one day;
19 one day and one night. She would have come in
20 early on the morning of the surgery. She would
21 have her surgery. She would have gone to
22 recovery. She would have gone up to her room.
23 She would have come down to our clinic the next
24 day. And she would have been discharged probably
25 later that morning.

1 surgical resident at the time.

2 Q. Do you know where he is now?

3 A. Yes. He is a surgical resident in general
4 surgery at Huron Road.

5 Q. Was Troy Frazee doing his residency in
6 general surgery?

7 A. At the time he was with me, he would have
8 been doing his residency in oral and
9 maxillofacial surgery.

10 Q. What hospital is he at again now?

11 A. Huron Road.

12 Q. And I guess, I'm trying to -- I was asking
13 you about the two nurses that were there.

14 A. There may have even been more than two
15 nurses, because my recollection of how operating
16 rooms work, the nurses come out, substituting,
17 giving each other breaks. You might have a
18 situation where you might see four or five
19 different names.

20 Q. Yeah, I looked at the names, but I couldn't
21 really read them. I was just wondering if you
22 had an opportunity, if you would look at that, if
23 you would, and see if you can identify who those
24 were -- who they were and give them to your
25 lawyer?

1 BY MR. CARLIN:

2 Q. She's given a general anesthetic, right?

3 A. Correct.

4 Q. So, she's completely unconscious?

5 A. Yes.

6 Q. That's not like being asleep, is it?

7 A. I'm not sure what you are getting at.

8 Q. What's the difference between being
9 unconscious as a result of a general anesthesia
10 and being asleep?

11 A. In that sense, you cannot arouse the person
12 from general anesthesia until the anesthesia has
13 worn off. Normal circumstances, somebody can be
14 asleep, you can arouse them and somebody wake
15 them up.

16 Q. Doctor, in the surgery room itself, is
17 there any kind of videocamera there that tapes
18 the procedure?

19 A. We don't have that.

20 Q. Do they ever do that?

21 A. In certain situations, that can be done,
22 especially endoscopy, where you are operating by
23 looking at a television screen. It is very
24 similar to that, but it is very, very difficult
25 to tape this type of operation.

1 Q. Do you do the entire procedure yourself?
 2 A. I do what's called the critical parts
 3 myself. For example, the cervical incision the
 4 resident may do, putting the sutures, they may
 5 do. And the operation, I do. Although, this
 6 operation requires often six hands in the mouth
 7 at a time doing various functions. So, I only
 8 have two, as you can see here, you know. So,
 9 there are other doctors' hands in the patient's
 10 mouth and I am doing the critical steps.
 11 (Indicating.)
 12 Q. Did Troy Frazee do any of the procedure?
 13 A. I'm sure he did some of the procedure
 14 because he had to be two of the six hands at any
 15 time.
 16 Q. So, you are not saying that Troy Frazee
 17 actually takes over and does some of the
 18 procedure under your instruction, but what he
 19 does is assist you?
 20 A. Correct.
 21 Q. But, he doesn't do the procedure himself,
 22 does he?
 23 A. No. But, at any point in time, different
 24 people have to do different things. So, if
 25 somebody is looking, they will see six different

1 hands doing things all at the same time. So, I
 2 don't see how you can say I'm not doing the
 3 procedure he's not doing the procedure. We are
 4 all doing it. We can't do it otherwise.
 5 Q. Do you explain that to the patient?
 6 A. I think it is just assumed that you will
 7 have assistance in the operating room.
 8 Q. Okay.
 9 A. If they would prefer not to have an
 10 assistant, I imagine they cannot have the
 11 surgery. So, there is nothing you can do.
 12 Q. So, on 8/28, we have your notes here
 13 that -- and I think that you were indicating that
 14 there were very tight muscles and tissues, very
 15 small jaw. And I think that was something that
 16 you wrote in your operating report; is that
 17 correct?
 18 A. That's correct.
 19 Q. And I think on 8/29/96, there is a note and
 20 under it, it says, "Note," could you read what
 21 that is?
 22 A. Yes. "Some deficiency of left facial nerve
 23 present today, which was not present
 24 postoperatively yesterday." And that would be
 25 yesterday to my observation.

1 Q. And you are indicating that the left eye
 2 does not close?
 3 A. Yeah. I'll read what it says. "Decreased
 4 frontal buccal and slight decrease of marginal
 5 mandibular branch." There are various branches
 6 of the mandibular nerve and these three have
 7 decreased function.
 8 It's "Left eye does close with slight lag."
 9 That means she was able to close it, but it
 10 would close more slowly than the right eye.
 11 I have clear memory of watching that. She
 12 was able to close it all of the way, but it would
 13 happen slowly. Because of that, I advised her to
 14 lubricate the eyes as necessary, especially if it
 15 was observed to remain partly open during sleep.
 16 Q. And I think you then wrote, the last note
 17 for 8/26 -- or excuse me, I'm sorry, under
 18 "Note," last note of 8/29/96, and it's on
 19 Plaintiff's Exhibit H, the last sentence there,
 20 could you read that?
 21 A. "I feel condition is temporary, secondary
 22 to swelling or hematoma since it was fine
 23 yesterday. Also, possibility of spontaneous
 24 Bell's palsy coincident with first post-op day of
 25 surgery," which I already explained to you

1 earlier in the deposition.
 2 Q. We discussed the possibility of it being
 3 spontaneous Bell's palsy?
 4 A. Yes.
 5 Q. I don't want to revisit that. But,
 6 basically, I think we are all agreeing that the
 7 Bell's palsy was probably as a result of the
 8 surgical procedure?
 9 A. Yeah, I think it is more likely than --
 10 secondary to the contusion swelling and hematoma
 11 than to a spontaneous viral-type of occurrence in
 12 the nerve.
 13 Q. At least, initially, you thought that this
 14 was a temporary condition which was due to the
 15 hematoma?
 16 A. Yes.
 17 Q. And I think today, as we discussed this and
 18 we were talking about the potential for a
 19 compression injury in causing this paralysis, you
 20 discussed that it was due to the -- probably or
 21 may have been due to the hematoma?
 22 A. Yes.
 23 Q. And apparently, it turned out that it
 24 wasn't temporary, but it was more permanent?
 25 A. Yes, part of it was. Part of it resolved.

1 Q. Which part of it resolved?
 2 A. I think she has better motion of part of
 3 her face than she did when I was seeing her in
 4 the postoperative visits. And I think some of it
 5 continues today. According to her deposition,
 6 she doesn't close her eye completely.
 7 Q. Correct.
 8 A. The reason the parts of -- I'm reasonably
 9 sure that part has remained permanent.
 10 Q. And I guess I am wondering what parts
 11 resolved?
 12 A. I don't remember. She has visual facial
 13 drooping at rest. To my recollection, I think
 14 she had some of that when I saw her in the
 15 postoperative visit. So, I think she has gained
 16 back some of her nerve function.
 17 Q. Doctor, going over to 9/6/96, and I guess
 18 this is about a week and a day or two later, and
 19 that's identified as Plaintiff's Exhibit I?
 20 A. Yes.
 21 Q. And there was a note there about two-thirds
 22 of the way down, "Patient did not anticipate" --
 23 could you read that, "Husband supportive"?
 24 A. Yes, "Husband supportive. Patient did not
 25 anticipate postoperative problems with pain,

1 swelling, bleeding, ecchymosis, despite
 2 extensive," which I underlined, "preoperative
 3 informed consent."
 4 Q. What is ecchymosis?
 5 A. Black and blue. She was substantially
 6 black and blue along the left side of her face,
 7 the back of the jaw, and the neck area. That
 8 would be very consistent with that
 9 retromandibular bleed or hematoma.
 10 Q. I think on the next page, Plaintiff's
 11 Exhibit J, I think that's a note from 9/13/96,
 12 "Two weeks," and I guess that's "Post-op"?
 13 A. Yes.
 14 Q. And I think you wrote in there "Improving"?
 15 A. Yes.
 16 Q. And "Saw Dr. Levine"?
 17 A. Yes.
 18 Q. Who is Dr. Levine?
 19 A. He's -- or was the Chief of ophthalmology at
 20 Mt. Sinai Medical Center at that time. And I
 21 think it would be beneficial for her to see an
 22 eye doctor since she felt she was having some
 23 problem with her eye because it wouldn't close.
 24 So, I wanted her to see a good eye doctor.
 25 Q. And did you then recommend Dr. Levine?

1 A. I did.
 2 Q. Do you know Dr. Levine?
 3 A. Yes.
 4 Q. Is he a friend?
 5 A. I wouldn't say, "a friend." I've never
 6 socialized with him. He's a colleague.
 7 Q. Did you recommend also the neurologist that
 8 was involved with this case?
 9 A. Yes.
 10 Q. What was his name?
 11 A. Well, I recommended that she see a
 12 competent neurologist who I know personally, Dr.
 13 Laurence Kinsella. But, for various reasons, she
 14 didn't see Dr. Kinsella. She ended up seeing Dr.
 15 Zayat, whom I don't know.
 16 Q. Did you ever see the reports of Dr. Zayat?
 17 A. Yes.
 18 Q. And do you recall right here what those
 19 reports said?
 20 A. Somewhat. I would have to read them if you
 21 have any questions about them.
 22 Q. I think they are a part of your record,
 23 aren't they?
 24 A. Yes.
 25 Q. And I think he did some neurological

1 testing?
 2 A. Yes.
 3 Q. And what was your understanding of the
 4 results of the neurological testing?
 5 A. That she had a decreased facial nerve
 6 function on the left side.
 7 Q. And I think over here on October 11th on
 8 Plaintiff's Exhibit K, at least, on October 11th
 9 of 1996, you indicated to her that she would need
 10 six to eight months to recover nerve function?
 11 A. That is actually what she reported to me
 12 that Dr. Zayat told her.
 13 Q. And at least, I suppose, at that time, it
 14 was anticipated that this nerve function would be
 15 restored or returned within a certain amount of
 16 time?
 17 A. Yes. It was my understanding that nerve
 18 functioning should return on the basis of the
 19 mechanism of injury.
 20 Q. Doctor, just bouncing back to your
 21 operative report, what is the mandibular nerve?
 22 A. The mandibular nerve is the nerve that runs
 23 inside the jaw, from about the middle of the back
 24 of the jaw, up to the bicuspid teeth and then
 25 goes out to your lip. It is the nerve that

1 dentists numb, when he gives us a mandibular
 2 shot. It is different from it. It gives
 3 feeling.
 4 Q. It's not a motor nerve, it's a nerve for
 5 feeling?
 6 A. Correct.
 7 Q. It is different than the nerve -- I thought
 8 I asked you about this, but I think on the
 9 8/29/96 note, that you had. "Nerve deficiency
 10 with left facial nerve noted today which was not
 11 post-op yesterday"?
 12 A. Yes.
 13 Q. I think you described that immediately
 14 after the surgical procedure, you didn't note
 15 anything wrong with her face?
 16 A. That is correct. In fact, I have clear
 17 recollection of visiting her in her room the
 18 night of surgery. She was sitting up in bed.
 19 She had her bandages on, which go on the side of
 20 her face, and she had both eyes closed and she
 21 didn't open her eyes at all, which surprised me,
 22 because most patients do that. I guess it was
 23 more comfortable for her.
 24 Her husband was sitting there. He's a very
 25 quiet man. I don't know if you met him. I

1 explained the surgery, the fact she may have
 2 swelling, the fact she may have pain, the various
 3 things we do to keep patients comfortable during
 4 the night.
 5 She was asymmetrical of the face. I was
 6 looking very close to her. She did not have
 7 inability to close the eyes. That's why I was
 8 very surprised when I saw her the next morning to
 9 see that the eye had difficulty closing. But,
 10 even on the next morning, it actually could
 11 close. It closed more slowly. As time went on,
 12 she progressively lost the ability to close it
 13 all of the way.
 14 Q. Okay. The Lorenz square drive screws,
 15 13-15 millimeters placed above the nerve; could
 16 you just describe that?
 17 A. Yes. Perhaps, if I showed you the x-ray,
 18 it would be easier to understand.
 19 Q. Sure. Is this Lorenz, is that actually the
 20 name of the company that makes the screw?
 21 A. Yes, sir, that is the brand of screws.
 22 Q. That's Florida Screw, they were bought out
 23 by Biomet?
 24 A. You are correct.
 25 Q. I notice you also using some Stryker

1 instruments here, too?
 2 A. Yes.
 3 Q. Good.
 4 A. These are large.
 5 Q. I have some Biomet and Stryker. I like
 6 them both. Do you like those companies?
 7 A. I don't have ownership position in them,
 8 that I am aware, but I use their equipment.
 9 Q. Is it good equipment?
 10 A. Yes, it is good equipment.
 11 Q. Do you prefer the Lorenz equipment over the
 12 Stryker or the Stryker over the Lorenz?
 13 A. I use them for different uses. The Lorenz
 14 makes screws that I use. The Stryker makes saws.
 15 Q. Lorenz makes saws too. Have you ever seen
 16 those?
 17 A. I think I have seen them at the trade
 18 shows. I have not used them.
 19 Q. Go ahead.
 20 A. Back to the subject at hand.
 21 These are the screws and they are going in
 22 the ramus of the jaw. They are placed in a
 23 standard fashion. And although to you, they look
 24 like they are going straight back, they are
 25 actually going at an angle from the outside

1 cortex of bone engaging the inside cortex of bone
 2 to allow the jaw to be advanced as indicated by
 3 this. (Indicating.)
 4 MR. CARLIN: Why don't you let
 5 the record reflect that Dr. Hauser has x-rays
 6 from Carol Bozik of 8/29/96, which is the day
 7 following the procedure and he has it inserted
 8 into a light screen.
 9 Go ahead.
 10 MR. SCOTT: There is no
 11 question pending. Did we not just answer the
 12 question?
 13 THE WITNESS: Yes. The question
 14 was about the jaw and I answered that.
 15 BY MR. CARLIN:
 16 Q. Yeah. Is the 13 to 15 millimeters, that's
 17 the length of that?
 18 A. That references the length of the screw.
 19 We actually measure the appropriate depth for
 20 each screw, which is a time consuming standard
 21 thing to make sure we have the most appropriate
 22 screw for the indication.
 23 Q. What is the "ARM"; what does that mean?
 24 A. That's probably millimeters. It's probably
 25 MM.

1 Q. It's MM, that's what it is. Okay.
 2 Going to Plaintiff's Exhibit J, it's in
 3 your notes under September 13, 1996.
 4 A. I have that.
 5 Q. And I think you have here, "On 9/13/96, two
 6 weeks post-op, improving. Saw Dr. Levine,
 7 received eyedrops at night for eye. Eyes okay."
 8 I think down here you have, "Facial nerve
 9 frontal, 10 percent"; is that what you have?
 10 A. Yes.
 11 Q. What does that mean?
 12 A. That is my clinical estimation of how much
 13 function there is compared to normal. So, if she
 14 was able to move the frontal branch, which work
 15 the eyebrow, if you will, I would raise that a
 16 hundred percent. If she couldn't move it at all,
 17 I would give her a zero. And she moved it a
 18 little because it --
 19 Q. And the left side?
 20 A. -- seemed to have 75 percent of the
 21 strength compared to the opposite side.
 22 Q. Was that 75 percent or 25 percent?
 23 A. I think it's 75 percent, and as I recall,
 24 she had 75 percent. The upper lip, she had zero
 25 function. She really couldn't move it at all.

1 When you asked earlier if she had improved, this
 2 is an area that I believe she has improved to
 3 some degree.
 4 And the lower lip she had 25 percent of the
 5 normal function. I believe she has more than
 6 that now based on the deposition testimony. I
 7 have not had a chance to examine her much beyond
 8 October, but she had 25 percent of normal
 9 function compared to the opposite side just,
 10 based on my visualizing her motion.
 11 Q. How did you go about doing that?
 12 A. I would ask her to pucker her lips, move
 13 her eyebrows, close her eyes.
 14 Q. You asked her to do that asymmetrically?
 15 A. If she can, yes. But, you can ask the
 16 patient to do it at the same time. It is easier
 17 to compare one side to the other.
 18 Q. You asked her to do it asymmetrically?
 19 A. Yes.
 20 Q. Close your eyes, or lower and raise your
 21 eyebrows?
 22 A. Correct, right.
 23 Q. Open and close your eyes, then you just
 24 eyeballed it?
 25 A. Exactly.

1 Q. There is a note on this, on 9/17/96, right
 2 there --
 3 A. Yes.
 4 Q. -- in the side there; whose note is that?
 5 A. From the handwriting, that may be -- or "As
 6 per EMS," Ella Mae Shaker. She was my former
 7 secretary at Mt. Sinai Medical Center at the
 8 time. It says, "Patient couldn't go to Dr.
 9 Kinsella. However, patient is going to Dr.
 10 Joseph Zayat, neurologist, in Bedford."
 11 Q. Do you know who made that note?
 12 A. Probably "EMS," Ella Mae Shaker.
 13 Q. That's your former secretary?
 14 A. Yes.
 15 Q. Why would she make that note?
 16 A. I don't know. Perhaps the patient called
 17 and said that they were going to Dr. Zayat and
 18 she wants it noted in the chart, or I asked her
 19 to note that, I don't know.
 20 Q. Doctor, it appeared at about this time,
 21 Carol Bozik was, I guess, diagnosed as being
 22 diabetic; do you recall that?
 23 A. Yes.
 24 Q. And was that related to the surgery anyway?
 25 A. I don't think so. I found that her blood

1 sugar was elevated prior to surgery. I was
 2 probably the first person to detect that she had
 3 hyperglycemia or high blood sugar which elevated
 4 for surgery, which we anticipated that. I spoke
 5 to Dr. Azem, her internist. He said he would
 6 follow her for that.
 7 Q. But, that wasn't related in any way to the
 8 surgery or the medication she was receiving, was
 9 it?
 10 A. No, that's a metabolic condition that the
 11 patient either was born with, or in her case,
 12 later develops with time.
 13 MR. CARLIN: Just give me one
 14 more minute to go through this. I think this is
 15 about it.
 16 (Thereupon, a discussion was
 17 held off the record.)
 18 MR. CARLIN: Doctor, I have no
 19 more questions. Thank you very much.
 20 MR. SCOTT: Doctor will read.
 21 (Thereupon, the deposition
 22 was concluded at 4:40 p.m.)
 23 ---
 24
 25

1
2
3 I, MICHAEL S. HAUSER, D.M.D., M.D., do
4 verify that I have read this transcript
5 consisting of 95 pages and have had the
6 opportunity to make corrections.
7

8
9 MICHAEL S. HAUSER, D.M.D., M.D.
10

11
12 Sworn to before me,
Notary Public
13

14 this day of , .
15
16
17
18

Notary Public
19

20 My commission expires
21 ---
22 jjh
23
24
25

1 CERTIFICATE
2 STATE OF OHIO,)
3) SS:
4 CUYAHOGA COUNTY,)
5

6 I, Janine J. Howard, a Registered
7 Professional Reporter, and Notary Public within
8 and for the State of Ohio, duly commissioned and
9 qualified, do hereby certify that the within
10 named witness, MICHAEL S. HAUSER, D.M.D., M.D.,
11 was by me first duly sworn to testify the truth,
12 the whole truth and nothing but the truth in the
13 cause aforesaid; that the testimony then given by
14 him was by me reduced to Stenotype in the
15 presence of said witness, afterwards prepared and
16 produced by means of Computer-Aided Transcription
17 and that the foregoing is a true and correct
18 transcript of the testimony so given by him as
19 aforesaid.
20

21 I do further certify that this deposition
22 was taken at the time and place in the
23 foregoing caption specified, and was completed
24 without adjournment.
25

I do further certify that I am not a
relative, counsel or attorney of either party, or
otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my
hand and affixed my seal of office at Cleveland,
Ohio on this 15th day of September, 2000.

Janine J. Howard, Registered
Professional Reporter, and Notary
Public in and for the State of
Ohio.

My commission expires May 24, 2001.
