

Deposition of Richard Hayes, taken June 29, 2001

Index Page 1

State Of Ohio,)
County of Cuyahoga.) SS:

APPEARANCES:

IN THE COURT OF COMMON PLEAS

On behalf of the Plaintiffs:

ANNA OLLER, et al.,)
)
Plaintiffs,)
)
vs.) Case No. 99 CV 160
)
WILTON CORP., et al.,)
)
Defendants.)

John R. Liber, II, Esq.
17 1/2 North Franklin Street
Chagrin Falls, Ohio 44022

On behalf of the Defendant
Cincinnati Milacron:

Robert H. Eddy, Esq.
Gallagher, Sharp, Fulton & Norman
Seventh Floor Bulkley Building
1501 Euclid Avenue
Cleveland, Ohio 44115

THE DEPOSITION OF RICHARD HAYES
FRIDAY, JUNE 29, 2001

On behalf of the Defendant Wilton Corp.:

C. Richard McDonald, Esq.
Davis and Young Co., LPA
1700 Midland Building
101 Prospect Avenue
Cleveland, Ohio 44115

Doug S. Musick, Esq.
Roatzel & Andress
1375 East Ninth Street
One Cleveland Center
Cleveland, Ohio 44114

The deposition of RICHARD HAYES called by the Plaintiffs for examination pursuant to the Ohio Rules of Civil Procedure, taken before me, the undersigned, Tracy L. Barker, a Registered Merit Reporter and Notary Public within and for the State of Ohio, taken at the offices of Gallagher, Sharp, Fulton & Norman, Seventh Floor Bulkley Building, Cleveland, Ohio, commencing at 1:10 p.m., the day and date above set forth.

3

4

DEPOSITION INDEX OF RICHARD HAYES

EXAMINATION BY:	PAGE NO.
Mr. Liber	
Mr. McDonald	

EXHIBIT	PAGE NO.
30	
31	
32	
33	

1 -----
2 (Plaintiff's Exhibit Nos. 30 and 31
3 were marked.)
4 -----
5 EXAMINATION OF RICHARD HAYES
6 BY MR. LIBER:
7 Q Say your name, please.
8 A Richard H. Hayes, H-a-y-e-s.
9 Q Mr. Hayes, you are here on behalf of Wilton
10 Corporation as an expert in the area of safety?
11 A That's correct.
12 Q Could you give us your office address, please?
13 A It's 5727 Airport Highway, Suite A, Toledo, Ohio
14 43615.
15 Q Mr. Hayes, for identification purposes, I've
16 marked two documents which I'm placing in front
17 of you.
18 The one you're looking at right now is
19 marked Plaintiff's Exhibit 31 and the other one
20 is Plaintiff's Exhibit 30. Would you please
21 identify those two documents for me.
22 A One is my current CV and the other is my report
23 dated July 11, 2000.
24 Q Your CV is identified as Number 31, correct?
25 A That's correct.

SCANNED
6/14/04

5

6

1 Q And your report is identified as Exhibit 30,
2 correct?
3 A That's correct.
4 Q Are there any additions, corrections,
5 modifications you would like to make to either
6 exhibit?
7 A There is a correction on the CV, and that is for
8 the American Board of Forensic Examiners. It's
9 now Diplorie.
10 Q Would you refer me to which page?
11 A The last page.
12 Q At the bottom?
13 A That's the only change. No changes.
14 Q Are you a native of Ohio, sir?
15 A Yes.
16 Q Where did you go to high school?
17 A Tiffin, Ohio; Tiffin Calvert.
18 Q Let me begin by going through your background
19 and experience.
20 I have had a chance to review your CV and
21 the bulk of your experience is with the
22 Occupational Safety and Health Administration;
23 is that fair to say?
24 A That's correct.
25 Q I take it you've also had your deposition taken

1 before?
2 A A few times, yes.
3 Q As best as you can ballpark, how many times has
4 that occurred?
5 A More than 300, less than 800.
6 Q And according to your vitae, you indicate that
7 you consult on behalf of both parties to legal
8 matters, plaintiffs and defendants; is that
9 correct?
10 A Yes.
11 Q Do you have any breakdown as to how many
12 percentage wise is between plaintiffs and
13 defendants who you end up consulting for on
14 litigation matters?
15 A In the past, what, five years, I can give you a
16 ballpark on that.
17 Q Okay.
18 A I'm going to say about 20 percent plaintiff and
19 about 80 percent defense.
20 Q So the majority of the --
21 A It works out. I need to add to that to about
22 six plaintiffs' cases a year.
23 Q You do review plaintiff cases, but it's fair to
24 say that the majority of the cases you review
25 and end up working on are on behalf of

7

8

1 defendants?
2 A As of late, we've been doing more plaintiffs'
3 work, and I think it's only because we started
4 doing more -- we get calls and -- but we look at
5 both.
6 Q But the majority of your work is for defendants
7 in litigation?
8 A Currently, correct.
9 Q Other than the case we're here about today, how
10 many other matters have you reviewed or
11 consulted for with respect to an allegation
12 involving a workplace intentional tort?
13 A How many?
14 Q Yes.
15 A Oh, many.
16 Q And once again, your best estimate.
17 A Oh, I'm going to say more than 800, less than
18 1,200.
19 Q An easier question, how many of those have you
20 appeared on behalf of a plaintiff or an injured
21 party involving an allegation of a workplace
22 intentional tort?
23 A For deposition or for actual trial?
24 Q Let's start with deposition.
25 A For deposition, probably 60.

1 Q And how many for trial?
2 A None for trial. They've all been settled.
3 For plaintiff.
4 Q For a plaintiff.
5 Can you recall the name of the plaintiff
6 or the name of the plaintiff's lawyer on the
7 last case you served as with respect to a safety
8 expert in an intentional tort case?
9 A Well, I've got several pending right now, and I
10 didn't bring my list of cases. There's a
11 fellow by the name of Richard Louis down in
12 Jackson, Ohio against -- I can't give you the
13 site. It's a medical lot company. I have --
14 Q Mr. Louis is the plaintiff's attorney?
15 A He's the plaintiff's attorney. Lynch versus
16 Kissel Brothers, which is a carnival type show,
17 case of a trip and fall hazard. And there's
18 several others. I can't remember what they
19 are.
20 Q Okay. How many times have you testified in
21 court as an expert witness?
22 A For what -- which kind of cases? In OSHA, a
23 number of times.
24 Q That's a good point. Thank you.
25 With respect to your litigation services

9

10

1 as a consultant, how many times have you
 2 testified in trial?
 3 A Well, since I consult on OSHA cases as well as
 4 tort cases, more than 12, less than 20.
 5 Q In any case that you've consulted in, have you
 6 ever been disqualified or found not of
 7 sufficient experience or training in order to
 8 offer expert opinions?
 9 A No.
 10 Q I understand you get paid for your services.
 11 A I do.
 12 Q What is your fee schedule?
 13 A It's 175 an hour for straight preparation,
 14 review of materials. That's 250 an hour for
 15 depositions in trial work with a minimum of four
 16 hours for trial work.
 17 Q Is that portal to portal for the deposition?
 18 A That's correct.
 19 Q Do you have any way to determine how much
 20 money -- or strike that. How much time you
 21 have spent on the case that we are here about
 22 today, that is, Anna Oller versus Wilton
 23 Corporation, et al?
 24 A I'm going to say less than 25 hours. That
 25 includes a site visit.

1 Q With respect to the litigation cases which you
 2 have acted as a consultant, how many of those
 3 have involved an issue of machine guarding?
 4 A Most. Since we do construction cases as well
 5 as general manufacturing, general industry, even
 6 under construction cases, it generally involves
 7 some type of machinery.
 8 Q Other than the case which we're here about
 9 today, have you ever consulted or worked on a
 10 lawsuit involving a milling machine?
 11 A Not horizontal milling machines, not in the
 12 intentional tort arena, but in the OSHA arena,
 13 before the Occupational Safety and Health Review
 14 Commission. And it was not involving the
 15 cutters, it was involving a coolant issue.
 16 Q Okay. So let me turn that into the positive,
 17 if I may.
 18 Is it fair to say that as a litigation
 19 consultant, you have not worked on a case before
 20 involving a horizontal milling machine?
 21 A Not a Cincinnati Milacron horizontal milling
 22 machine. I have worked on vertical
 23 multispindle milling machines.
 24 Q Was that the coolant issue you mentioned?
 25 A No, that would be the vertical head. It moved

11

12

1 unintended and it hit the guy in the head.
 2 Q Was there a guarding issue involved in that
 3 case?
 4 A There was.
 5 Q Okay. Other than the case that you just
 6 mentioned involving the vertical multihead
 7 machine, have you worked on any other milling
 8 machine case involving a guarding issue as a
 9 litigation consultant?
 10 A No.
 11 Q Now, let's back up in your time at OSHA.
 12 Explain for me your experience in working
 13 with milling machines on guarding issues.
 14 A Well, as a supervisor and as -- well, let me
 15 back up a little bit. As a senior compliance
 16 officer, inspector, for lack of a better word,
 17 we've had the occasion to look at literally
 18 thousands of locations that had milling
 19 machines, and looking at those, of course, we
 20 took -- there were occasions when we would
 21 address guarding issues. The compliance staff
 22 that worked under my supervision would bring in
 23 milling machine issues. Those, there would be
 24 a little bit of work involved in issuing
 25 citations and supporting data and stuff like

1 that. That's my experience from an OSHA
 2 perspective with milling machines.
 3 Q When I say core allegations of this case, can we
 4 agree that they basically mean that our claim is
 5 that there should have been some form of a
 6 rotating cutter guard on a horizontal milling
 7 machine; is that what --
 8 A If you want to get out of here at 3:30, we can
 9 cut to the chase, John.
 10 Q Yes?
 11 A Yes, I agree with that.
 12 Q Have you ever dealt with that type of issue
 13 before either as a litigation consultant or in
 14 your experience at OSHA?
 15 A Yes.
 16 Q Is it more than once?
 17 A Yes.
 18 Q Okay. Tell me about each instance as best you
 19 can, beginning with the most recent.
 20 A From OSHA, right? Are you still talking about
 21 OSHA?
 22 Q Well, have you ever dealt with that in a
 23 litigation context?
 24 A In a litigation context?
 25 Q I'm sorry, let me clarify.

13

14

1 A You have to clarify that for me.
 2 Q As a litigation consultant, have you ever dealt
 3 with that issue before?
 4 A No.
 5 Q Let's go back --
 6 A Not on a horizontal.
 7 Q -- to OSHA.
 8 With respect to our claim that there
 9 should have been a spindle guard or a rotating
 10 cutter guard on a horizontal milling machine,
 11 tell me about your experiences with that while
 12 you were at OSHA.
 13 A I've probably had over a dozen cases of that
 14 when I was with OSHA in the years that I was
 15 with them, and that's with approximately 15,000
 16 cases that I've reviewed, either directly
 17 involved with the case, or actually gone out to
 18 the site and looked at them.
 19 Q And of that, about a dozen?
 20 A About a dozen or so. That's just a ballpark.
 21 Q I understand.
 22 A In OSHA, you don't track your cases by the type
 23 of machine. It's by the company.
 24 Q Sure. But it's certainly less than 25?
 25 A I would say so.

1 Q Okay. Would that type of a focus, the dozen or
 2 so, less than 25 that you've handled, how many
 3 of those involved a situation where the injury
 4 had already occurred and OSHA was investigating
 5 the situation after the fact?
 6 A None.
 7 Q So is it fair to say then that your involvement
 8 in those situations was reviewing a particular
 9 issue of the guarding prior to any injury
 10 occurring?
 11 A No. Usually on a milling machine, if it's a
 12 captive machine shop or if it's a production
 13 type milling operation, what generally would
 14 lead us to look at a milling machine would be
 15 any recordable injuries that showed up on what's
 16 called the OSHA 200 injury notice form.
 17 Q Okay.
 18 A By examining those records, if we saw anything
 19 that dealt with machine guarding issues, we were
 20 obligated to go look at it. All of those
 21 cases -- and it could be more than a dozen --
 22 usually the clue was in the records, and
 23 generally, it involved a minor injury, slight
 24 laceration that required a little bit more than
 25 first aid. Nothing serious, of those that I

15

16

1 ever recall.
 2 Q Okay. And what would your role be involved in
 3 actually examining the, or inspecting the
 4 premises and conducting a review therefrom?
 5 A We look at the machine, see how it's being used.
 6 If it's a production machine, a jobbing machine;
 7 whether it's vertical, horizontal, whether or
 8 not; where the operator's position was, loading,
 9 unloading; that would be about it.
 10 Q Before the Anna Oller case, had you ever
 11 consulted or reviewed the ANSI milling machine
 12 guarding standard which we know as B8.11?
 13 A Correct.
 14 Q Is that a yes?
 15 A Yes.
 16 Q Okay. You had heard of that before, seen it
 17 before, consulted it before?
 18 A Yes.
 19 Q And had you done that in your capacity in the
 20 different positions you held while at OSHA?
 21 A Yes.
 22 Q Had you ever found an employer in violation of
 23 that standard for a horizontal milling machine?
 24 MR. McDONALD: I'm going to
 25 object.

1 Q Okay.
 2 MR. McDONALD: Go ahead and
 3 answer.
 4 A What was the question?
 5 Q Sure. While you were at OSHA, did you ever find
 6 an employee in violation of ANSI B11.8, I think
 7 it is, I want to make sure I'm saying it right.
 8 I thought I had it down straight.
 9 MR. McDONALD: You're saying it
 10 right.
 11 MR. LIBER: Let's mark this
 12 as 32.
 13 -----
 14 (Plaintiff's Exhibit No. 32 was marked.)
 15 -----
 16 Q Placing in front of you what we've marked as
 17 Plaintiff's Exhibit 32, is that a copy of the
 18 American National Standard B11.8 with respect to
 19 the safeguarding standards for milling machines?
 20 A It's -- yes. The 1974 version.
 21 Q Okay.
 22 A Yes, I did review that before, when I was with
 23 OSHA.
 24 Q While you were with OSHA, did you ever find an
 25 employer in violation of that standard?

1 A With a milling machine, no.
 2 Q And is it correct to say that through OSHA,
 3 1910.212, employers are required, for milling
 4 machines, to follow the ANSI standard?
 5 MR. McDONALD: Objection.
 6 A I don't agree with that. 212 is primarily a
 7 general machine guarding standard, and it does
 8 reference milling machines, but that's about it.
 9 It's a very broad, broadly interpreted,
 10 catch-all type standard. That's why I probably
 11 would have looked at the B11 standard, though,
 12 because there's not enough detail in the
 13 standard itself.
 14 Q Is it correct that 1910.212 states that under
 15 paragraph three with two little ii's -- I guess
 16 you'd say (a)(3)(iii), correct?
 17 That point of operation -- it's talking
 18 about point-of-operation guarding, but it states
 19 that, "The guarding device shall be in
 20 conformity with any appropriate standards
 21 therefor, or in the absence of applicable
 22 specific standards, shall be so designed" -- on
 23 and on; is that correct?
 24 A That's what it says, yes.
 25 Q And you're saying that as far as ANSI is

1 concerned, ANSI would not fall within what OSHA
 2 states is appropriate standards?
 3 MR. McDONALD: Objection.
 4 A Well, there has to be an adoption of a specific
 5 OSHA standard, and as we sit here today, I'm not
 6 sure -- I don't believe that OSHA adopted B11.8
 7 making 1974 as the guiding document for milling
 8 machines.
 9 Q Okay. Are you familiar with any other standard
 10 that would apply to milling machines?
 11 A No.
 12 Q So is it your testimony in this case that B11.8
 13 does not apply to this accident?
 14 A Well, it may apply to it, but from a regulatory
 15 position, my position is that it does not apply.
 16 Q Okay.
 17 A Not in terms of a regulatory issue.
 18 Q Aside from a regulatory issue, how would B11.8
 19 apply to this case?
 20 A Well, B11.8 is a national consensus standard
 21 that's developed with the cooperation of the
 22 industry itself. In order to cite an ANSI
 23 standard under OSHA, you have to cite what's
 24 called the general duty clause, which is Section
 25 5A-1 of the act. That's number one.

1 Number two, you have to show that there's
 2 an exposure to a condition that is addressed in
 3 a national consensus standard.
 4 Number three, you have to show that
 5 there's likelihood of serious physical harm or
 6 death.
 7 And number four, you have to show that the
 8 employer knew that it existed. So you have to
 9 show all those things.
 10 Q I'm sorry, that was very well said. Can you
 11 repeat them for me? Or I can ask her to read it
 12 back.
 13 THE WITNESS: Why don't you
 14 read it back.
 15 (Record was read.)
 16 Q There was a fifth one?
 17 A And fifth, probably one of the most important
 18 aspects of it, there has to be a feasible method
 19 of fixing the problem.
 20 -----
 21 (Plaintiff's Exhibit No. 33 was marked.)
 22 -----
 23 Q Placing in front of you what's been marked as
 24 Plaintiff's Exhibit 33, could you tell me what
 25 that is, please.

1 A Is there a question?
 2 Q Yes. Could you tell me what that is, please?
 3 A I have a hearing deficiency, that's why.
 4 This is the 29 CFR 1910.212 general
 5 requirements for all machines, the Occupational
 6 Safety and Health standard.
 7 Q Is that what you identified as item number 13 in
 8 your report of July 11, 2000?
 9 A Yes.
 10 Q What is the purpose of the OSHA standard
 11 1910.212?
 12 A It's a -- what we call a horizontal standard, and
 13 the difference between a horizontal standard and
 14 a vertical standard is that a horizontal
 15 standard would apply to all machines, whereas a
 16 vertical standard would only apply to specified
 17 equipment. A vertical standard -- a good
 18 example of that would be the bakery industry has
 19 vertical standards. The logging industry has
 20 vertical standards. This is a general standard
 21 that applies to all machines in the workplace.
 22 Q Is there a vertical standard under OSHA which
 23 applies to milling machines?
 24 A No.
 25 Q With respect to 1910.212, it specifically

21

22

1 mentions milling machines, does it not?
 2 A That's correct.
 3 Q And there under, I think it's subparagraph
 4 three, Roman numeral IV, it identifies some
 5 machines which usually require
 6 point-of-operation guarding; is that correct?
 7 A That's correct.
 8 Q With respect to milling machines, do any of the
 9 OSHA regulations provide any other guidance for
 10 employer to assess or evaluate whether or not a
 11 horizontal milling machine requires
 12 point-of-operation guarding?
 13 A In terms of what, like guidance documents?
 14 Q Yes.
 15 A Yes. There are guidance documents.
 16 Q And what would those be?
 17 A There's a 1979 guidance document that deals with
 18 the 1974 B11.8 standard that talks about not
 19 being able to guard the point of operation.
 20 And the genesis for that guidance document,
 21 which is still in effect today, is paragraph
 22 212(a)(3)(ii) because some of our compliance
 23 officers, including myself, attempted to cite
 24 that back in the '70s. So to avoid the
 25 litigation effort that was taking place, the

1 guidance document was signed by a fellow by the
 2 name of Barry White who was the Assistant
 3 Secretary of Labor.
 4 Q And the purpose of that was for what?
 5 A Well, to clarify the fact that you can't guard
 6 the point of operation on most milling machines.
 7 Q Isn't it correct that ANSI B11.8 clarifies that
 8 by separating out point of operation in the
 9 rotating cutter hazard?
 10 A It separates it out and excludes it from
 11 guarding, yes.
 12 Q Right. Except when the operator is working
 13 within a foot of the blades; is that correct?
 14 A Generally, that's true.
 15 Q Well, specifically that's true according to that
 16 standard; is that correct?
 17 MR. McDONALD: Which standard
 18 are you talking about? I'm sorry.
 19 MR. LIBER: ANSI B11.8.
 20 MR. McDONALD: Objection.
 21 Q Correct?
 22 A That's correct.
 23 Q And, in fact, OSHA 1910.212 states that items
 24 such as rotating parts require machine guarding
 25 as well; is that correct?

23

24

1 A That's correct. That's what it states.
 2 Q And would, in your opinion, a rotating cutter on
 3 a milling machine be considered a rotating part
 4 under 1910.212 paragraph A?
 5 A Rotating parts encompass a lot of things. It
 6 could be that too, yes.
 7 Q In this case, you concluded that, under
 8 paragraph number five of your opinions, that
 9 Wilton did not violate any federal, State of
 10 Ohio safety rule, regulation, ANSI standards or
 11 industry practice by operating the milling
 12 machine in this case; is that correct?
 13 A What paragraph are you on?
 14 Q Five.
 15 A That's correct.
 16 Q Okay. What's your basis for concluding that
 17 Wilton did not violate ANSI B11.8?
 18 A Essentially, from reviewing the documents, I
 19 felt that they were in compliance with the
 20 distance factor.
 21 Q Based upon what?
 22 A Based upon no one knows how far the loading
 23 table was from the cutter heads, and that's one
 24 of the options in the ANSI standard and OSHA
 25 recognizes. They call it guarding by location

1 as a viable means for protection on milling
 2 machines. In fact, it's in a number of other
 3 standards too, but --
 4 Q If the individual who trained Anna Oller on the
 5 operation of the machine testified that it was
 6 his estimate that the distance of the fixture
 7 from the blades was approximately eight inches,
 8 would that change your conclusion in paragraph
 9 five at all?
 10 MR. McDONALD: Objection.
 11 A Not unless he measured it and recorded what
 12 those measurements were.
 13 Q Why does the exact measurement make a
 14 difference?
 15 A Well, when you're dealing with four inches and
 16 people estimating things -- for example, I have
 17 a car that is full of dings from my wife
 18 estimating where the garage corner is. I need
 19 exact measurements in order to -- at least in my
 20 business, you have to be pretty precise.
 21 Q Right. And nobody can get those exact
 22 measurements in this case; is that right?
 23 A That's what I found out, correct.
 24 Q And why is that?
 25 A Because the machine's gone.

25

1 Q It was disposed of by Wilton; is that correct?
 2 A That's my understanding.
 3 Q But with respect to an operation such as this,
 4 if the employee's perception is that the
 5 operation of the machine, the work area is
 6 within 12 inches of the blade, it is significant
 7 for any safety engineer, safety person, safety
 8 personnel to be able to then fully evaluate that
 9 by making specific and direct measurements and
 10 analysis; is that fair to say?
 11 MR. McDONALD: Objection. Go
 12 ahead.
 13 A That's a big question. A lot of stuff in that
 14 question. Can you get right to the question
 15 itself? If you're talking about the injured
 16 party -- let me see if I understand this.
 17 If the injured party has a perception that
 18 it's 12 inches or less -- and I don't know
 19 really where I'm going with this question.
 20 Q Well, let me restate it if you don't understand
 21 it.
 22 A I don't.
 23 Q And you've taken enough depositions to know that
 24 it's important for us to communicate here; is
 25 that fair to say?

27

1 incumbent upon the employer to evaluate that
 2 operation to determine if, number one, it
 3 complied with OSHA, and number two, if any type
 4 of protective measures had to be taken?
 5 MR. McDONALD: Objection.
 6 A Naturally, an employer wants to look at things
 7 that their employees bring to their attention
 8 that they fear. I wouldn't have a problem with
 9 that.
 10 Q With respect to your report, Plaintiff's Exhibit
 11 30, do you have any additional opinions, other
 12 than those that are delineated in paragraphs one
 13 through eight?
 14 A Not at this time, no.
 15 Q I take it you've been able to review --
 16 re-review the information that was provided to
 17 you in forming the opinions delineated in this
 18 report?
 19 A Yes.
 20 Q And I'm sure you've talked to Mr. McDonald in
 21 preparation for your deposition today; is that
 22 correct?
 23 A Yes.
 24 Q As a result of your preparation for this
 25 deposition, do you anticipate that you will be

26

1 A Yeah. I want to communicate with you.
 2 Q If any time you don't understand something that
 3 I ask you, just like that situation, you will
 4 let me know so I can rephrase it so that we
 5 communicate?
 6 A Sure.
 7 Q Is it fair to say that the point of the OSHA
 8 regulations, and indeed the point, in general,
 9 of the ANSI standards, is to improve workplace
 10 safety?
 11 A That's correct.
 12 Q Okay. And the fact of the matter is that if
 13 there is an operation which is perceived as
 14 dangerous, and it may, in fact, apply to OSHA
 15 standards, that it is incumbent upon the
 16 employer to have that evaluated to see if any
 17 changes need to be made?
 18 MR. McDONALD: Objection.
 19 A I think it's prudent for an employer to do that,
 20 yes. That's another part of our business. We
 21 do that.
 22 Q So in this case, if the operators of the general
 23 purpose milling machine were under the
 24 impression that they were required to work
 25 within a foot of the blades, it would be

28

1 offering any opinions which are in addition to
 2 or different than those which are listed on
 3 Plaintiff's Exhibit 30?
 4 A I suspect not.
 5 Q Okay. And I take it, that in fairness, that if
 6 you do have an earth-shattering revelation on
 7 your drive back to Toledo, you will let
 8 Mr. McDonald know the changes in your opinion so
 9 I have would have a chance to review them?
 10 A I'll be on a phone very quickly.
 11 Q You've also listed on Plaintiff's Exhibit 30 18
 12 items, one of which we've already identified
 13 being the OSHA standard, as materials which
 14 you've reviewed in preparation for your
 15 opinions; is that correct?
 16 A That's correct.
 17 Q Since you listed those 18 items, have you been
 18 provided with any additional information to
 19 review in preparation for either your opinions
 20 and/or your deposition in this case?
 21 A No.
 22 MR. McDONALD: Can I interject?
 23 MR. LIBER: Of course.
 24 MR. McDONALD: There's the
 25 Rennell affidavit.

1 THE WITNESS: Yes, I'm sorry.
 2 A Yeah, there was a subsequent affidavit by Jerry
 3 Rennell that I was able to look at.
 4 Q That was what was submitted in support of the
 5 plaintiff's brief in opposition to summary
 6 judgment?
 7 A That's correct.
 8 Q Other than that, were there any other matters?
 9 A No.
 10 Q Any other documents, anything else?
 11 A Just the OSHA documents. When I refer to any
 12 OSHA documents, I try to make it
 13 all-encompassing.
 14 Q Have you spoken with any of the witnesses in
 15 this case?
 16 A When I made my site visit, I sat in on some
 17 conversations with counsel and one or two of the
 18 witnesses, and I had a few questions of my own,
 19 but nothing earth-shattering.
 20 Q What were the names of the witnesses that you
 21 had conversations with?
 22 A Oh, boy. Dempsey --
 23 MR. McDONALD: No, Dempsey
 24 wasn't there. He was gone.
 25 Q I don't think you can ask Rick for help, but as

1 best you can recall.
 2 A I don't recall their names.
 3 Q Did you tape any of those conversations?
 4 A No, I did not.
 5 Q Do you recall the substance of any of those
 6 conversations?
 7 A No.
 8 Q Other than the ANSI standard and the OSHA
 9 standard, have you done any research on either
 10 point-of-operation guarding or rotating cutter
 11 guarding for general purpose milling machines?
 12 A Yes.
 13 Q Tell me about the research you performed.
 14 A Internet search, going back through my own ANSI
 15 documents, going back through machine guarding
 16 classes that I've taken over the past 30 years,
 17 going through OSHA's documentation on court
 18 cases involving milling machines.
 19 Q Anything else?
 20 A No. That's it.
 21 Q And from that research, did you produce anything
 22 of significance in this case?
 23 A Just the 1979 Barry White document.
 24 Q Do you have a copy of that with you today?
 25 A I believe I brought one, yeah. Or one that we

1 can make a copy of.
 2 MR. McDONALD: Sure.
 3 A This is the only copy I have, John, so.
 4 MR. McDONALD: Go ahead and
 5 mark and then we'll get copies.
 6 MR. LIBER: Let's mark this
 7 as Exhibit 34.
 8 -----
 9 (Plaintiff's Exhibit No. 34 was marked.)
 10 -----
 11 A I'm sorry. We need to change the date on that.
 12 It's 1976.
 13 Q What date does it say?
 14 A 2-18-76. Not '79.
 15 Q And when's the date that you pulled that up on
 16 the Internet?
 17 A Oh, 6-28, 2001.
 18 Q Yesterday?
 19 A Well, I had a tattered copy in my machine
 20 guarding standard, but it had coffee stains on
 21 it, so I pulled this up. It's a fresh copy.
 22 Q So you had seen that document before yesterday?
 23 A Yes, but I can't tell you when.
 24 Q Was it back when you were with OSHA or since
 25 you've been a consultant in litigation matters?

1 A Well, it would have been with OSHA for sure, and
 2 it probably would have been a few times since
 3 OSHA. One of those things that you know you've
 4 read it and you just don't know where it's at.
 5 Q And Plaintiff's Exhibit 34 came about as a
 6 result of what you explained earlier as OSHA
 7 compliance officers misconstruing the OSHA
 8 regulation 1910.212?
 9 A Not misconstruing, but applying 212 and then
 10 finding out that litigation or through
 11 settlement negotiations of the problems folks
 12 have with milling machines.
 13 Q Okay.
 14 A That would be the genesis for this document.
 15 Q And in general terms, that situation would arise
 16 as a result of checking a 200 form, as you said,
 17 a minor injury, or going to inspect the scene,
 18 observing the operation and seeing an unguarded
 19 machine, and the compliance officer would say,
 20 "You've got to put a guard on that" and would
 21 issue some type of order or even a citation?
 22 A They would try to, yes.
 23 Q Sure. And then that would be litigated?
 24 A In many cases, yes.
 25 Q As a result of the correction, or the

1 clarification, I guess I should say, for
 2 Plaintiff's Exhibit 34, what then was the
 3 process to go through to determine if guards
 4 were feasible in a particular operation?
 5 A Well, we would explore with in-house engineers
 6 from OSHA what methods are available. We would
 7 ensure that they would meet the minimal
 8 requirements, if there were any, if it was
 9 feasible and practical, because the way this is
 10 written, that's where it talks about
 11 practicality, and if nothing could be done, that
 12 would be the end of it. The citation would be
 13 vacated, and we would probably have a side
 14 agreement that the operator be trained, those
 15 kind of things. We'd explore different ways to
 16 get around the document.
 17 Q You said minimum standards. Where would that
 18 come from?
 19 A Well, of course -- you would look at ANSI, of
 20 course. You would look at the instruction
 21 books that come with the milling machines and
 22 find out if there could be any relief granted.
 23 You've got to understand that the compliance
 24 officer primarily was worried about the point of
 25 operation. And when you look at the ANSI

1 standard, of course, the point of operation is
 2 excluded. We were always trying to cite that
 3 point of operation, couldn't do this.
 4 Q What's the difference between the point of
 5 operation, a point-of-operation guard, and a
 6 rotating cutter guard on a horizontal milling
 7 machine?
 8 A Well, point of operation is clearly defined in
 9 all the ANSI standards, as well as OSHA
 10 standards. The point of operation is the
 11 specific area where the work is performed on the
 12 piece. A punch press, for example, is not the
 13 guide rods as point of operation. They're
 14 moving, they can take your head off, but it's
 15 where the punch actually goes through and forms
 16 the metal. It's very clearly defined.
 17 In the same is true, and my view, of
 18 milling machines. It has a point of operation.
 19 It's where the work is performed.
 20 Q And the difference between that and the rotating
 21 cutter itself?
 22 A Well, I see no difference between that. That
 23 is the point of operation to me, based upon the
 24 definition of what it is.
 25 Q As a safety engineer, how do you reconcile ANSI

1 where it says that you can't or don't have to
 2 guard point of operation; then it says, on the
 3 other hand, in some circumstances you have to
 4 provide a rotating cutter guard?
 5 A That's a good question.
 6 Q Do you have an answer for it as a safety
 7 specialist?
 8 A As a safety person, it probably causes me great
 9 angst, and ANSI standards are full of those kind
 10 of dichotomies. But part of the problem with
 11 the milling machine is the feasibility to do it
 12 and still create a part. And it isn't just
 13 milling machines, there's many other machines
 14 that this crops up.
 15 So they leave an open-ended standard like
 16 that and manufacturers have to fend for
 17 themselves. And quite frankly, in the literally
 18 thousands of machines that I've looked at that
 19 are true horizontal milling machines, I've never
 20 seen one guarded.
 21 Q That was my next question.
 22 A In 30 years, I haven't seen one guarded.
 23 Q How long were you with OSHA?
 24 A Sixteen and a half years.
 25 Q And after that, have you been a litigation

1 consultant?
 2 A Since 1989.
 3 Q And all that time you've never seen a rotating
 4 cutter guard on a horizontal milling machine in
 5 operation?
 6 A Never.
 7 Q Have you ever seen any brochures or designs or
 8 diagrams of such a device?
 9 A Yes.
 10 Q And do you know why that is the case?
 11 A Why what is the case?
 12 Q I'm sorry. Do you know why, in your experience,
 13 you have never seen such a device, a rotating
 14 cutter guard in operation?
 15 A I have an opinion as to why they don't, and I've
 16 had clients tell me why they don't guard it.
 17 The guards don't work, A. B, it could
 18 exacerbate a condition that they don't want
 19 getting trapped in the guard -- between the
 20 guard and the cutter itself. And B -- C,
 21 sorry.
 22 Q C.
 23 A C, sorry. That the guard that I'm familiar
 24 with, once it moves -- and I guess Mr. Rennell
 25 referred to it -- you still have an entrapment

1 point. You still have a rotating cutter that's
 2 exposed. So that feasibility thing jumps up to
 3 me.
 4 Q The clarification memo from Mr. White indicates
 5 that there are other options in the event that
 6 guarding of the cutter is not feasible; is that
 7 correct?
 8 A Yes.
 9 Q And what types of things has he mentioned?
 10 A Barry talks about splash shields, chip shields,
 11 or barriers. And I'm quoting, "...Splash
 12 shields, chip shields or barriers which provide
 13 protection to the operator or employees may be
 14 acceptable; and if necessary, a de minimis
 15 notice may be issued."
 16 Q What does a "de minimis notice" mean or refer
 17 to?
 18 A A "de minimis notice" means that if they have or
 19 do not have any of those things -- a "de minimis
 20 notice" in OSHA parlance means that there is no
 21 record of the -- it's not a citation. There is
 22 no record of it except in OSHA's files against
 23 an employer, and there is no fine that
 24 accompanies the citation. It's just that.
 25 It's obviously Latin, and it appears to

1 me to be belittling, that there's nothing to it.
 2 In fact, there isn't even any paper that says --
 3 sheet with a de minimis citation.
 4 Q The purpose is to simply notify the employer?
 5 A It's to notify the employer, and generally when
 6 they issue a de minimis notice, it's verbal and
 7 they ask them to keep looking at the
 8 technologies to see if anything changes that
 9 affects their equipment. Most employers agree
 10 with that.
 11 Q What is the National Safety Council?
 12 A National Safety Council is a national
 13 organization started many, many years ago by
 14 insurance companies in order to assist employers
 15 in reducing their premiums by enforcing safety
 16 standards.
 17 Q As a safety engineer, do you receive their
 18 publications?
 19 A I used to. I don't any more.
 20 Q Why don't you anymore?
 21 A Because they have no impact of law. A lot of
 22 what they look at are statistic driven as
 23 opposed to -- as opposed to factual statistics.
 24 There's a lot of estimation and it just didn't
 25 do anything for me.

1 Q But at one point in time you did receive their
 2 publications?
 3 A At one point in time I actually was a member of
 4 the NSC.
 5 Q Did you leave voluntarily?
 6 A Oh, sure. You don't get kicked out of the
 7 National Safety Council.
 8 Q You never know. And I'd certainly be
 9 interested if you were the first.
 10 A I've been thrown out of better places. They
 11 like your money.
 12 Q Are there publications --
 13 A That was a good one, John. I'm going to have
 14 to remember that one.
 15 (Off the record.)
 16 Q Are their publications in your opinion reliable
 17 and authoritative?
 18 A No. The NSC?
 19 Q Yes.
 20 A Some are, some aren't.
 21 Q Why would they not be reliable and authoritative
 22 in the area of safety, workplace safety?
 23 A Because their -- in my humble opinion, their
 24 data is sometimes skewed, and in the real world,
 25 it's not a perfect world and they tend to -- and

1 that's why you don't see the National Safety
 2 Council showing up as -- under OSHA's
 3 promulgation procedures as anything other than
 4 commenters. They don't develop standards
 5 themselves. ANSI's more important to me.
 6 Q Forgive me for jumping around a little bit, but
 7 I got off track with a couple things.
 8 With respect to your contact with Wilton
 9 in this case, have you ever worked for them
 10 before in any other capacity?
 11 A No.
 12 Q Had you ever heard of Wilton Corporation or
 13 Wilton Tool or any other subsidiaries prior to
 14 this case?
 15 A No, I have not.
 16 Q Other than this case, have you been in contact
 17 with Mr. McDonald before?
 18 A No.
 19 Q How about any of the other members of his
 20 office?
 21 A Not that I recall.
 22 Q Do you know how you were first -- or how you
 23 were contacted initially in this case?
 24 A I believe it was a word-of-mouth referral.
 25 Q Do you know who that was through?

41

42

1 A I believe it was Roetzel & Andress. I could be
2 wrong.
3 Q I notice on your CV in the education that you're
4 not a licensed engineer; is that correct?
5 A That's correct.
6 Q You don't have any training, undergraduate or
7 graduate, in an engineering field?
8 A No.
9 Q Does that make a difference in the safety
10 industry, whether you're an engineer or not?
11 A No.
12 Q With respect to the other individuals who have
13 been offered as experts in this case, have you
14 ever been in contact with Ralph Barnett before?
15 A Barnett?
16 Q Yes.
17 A No.
18 Q How about Gerald Rennell?
19 A Jerry and I are in a lot of cases together. And
20 I look forward to meeting him someday and --
21 Q You have not met him before?
22 A Not that I know of.
23 Q Okay. You have a smile on your face. Do you
24 have an opinion as to Mr. Rennell?
25 A No. I read many of his reports and he's a fine

1 gentleman.
2 Q You're not aware of any other opinion or
3 reputation he holds in the area of safety
4 analysis or safety review?
5 A Other than being prolific in a number of states
6 that I work in as a safety person, no.
7 Q You mean "prolific" by doing a lot of work?
8 A He does a lot of -- a lot of plaintiffs' work,
9 yeah.
10 Q Have you ever heard of or worked with Professor
11 Igor Paul from MIT?
12 A Yes, but I don't know the case. It was one
13 other case.
14 Q And you just recalled his name by reading it on
15 a report?
16 A He has a unique name and one of those ones that
17 stick with you.
18 Q You wouldn't know him if he walked in this room
19 today?
20 A I can picture him by his name.
21 Q So you've met the gentleman before?
22 A No.
23 Q That was a tongue-in-cheek comment
24 A Tongue in cheek.
25 Q As part of the information you had to review in

43

44

1 the preparation of your report was the report of
2 Ralph Barnett; is that correct? Item number two
3 on your report?
4 A Yes.
5 Q And with respect to opinion number eight, your
6 final opinion, you mentioned the items which
7 have arisen in this case as being attachments to
8 the machine which left the Cincinnati
9 manufacturing facility in 1943, you mentioned
10 the splash guards and arbor supports; is that
11 correct?
12 A That's correct.
13 Q You do not make any mention of item called an
14 automatic spindle stop device; is that correct?
15 A That's correct.
16 Q Is there a reason why you omitted that from your
17 report or any observations or opinions that you
18 hold in this case?
19 A I don't consider the spindle stop to be a safety
20 device.
21 Q Okay. Why not?
22 A Because it's at the option of the operator.
23 Any time you allow the employee to decide
24 whether they want to use it or not, it doesn't
25 fit the category of a safety device for me.

1 Q Is there a reason why you omitted it from your
2 report?
3 A Nothing in particular, no.
4 Q Because you also reach the same opinion with
5 regards to the splash guards and arbor supports;
6 is that correct?
7 A That's correct.
8 Q And it would be fair to say that the splash
9 guards and arbor supports could form some type
10 of a barrier in this case, similar to that
11 suggested by Mr. White at OSHA as a protective
12 device on a horizontal milling machine?
13 A Well, Mr. White left enough room in his opinion
14 that any time they use "may or may be
15 acceptable," that when they use that kind of
16 language, it's not mandatory. And I noticed
17 that he -- his last sentence was, may be
18 acceptable for splash shields, chip shields and
19 barriers.
20 I believe that as a safety person, if I
21 looked at that same set of circumstances -- and
22 in my experience, I'd recognize that splash
23 shields, chip shields do not provide any
24 particular protection to the employee except
25 from chips and splashes, and therefore, it's

1 just -- wouldn't make any sense to rely on that
 2 for rotating parts or point-of-operation
 3 guarding. And I think Mr. White -- and I can't
 4 speak for him. I know him. I can imagine
 5 that's the dilemma he was in when he drafted
 6 this opinion.
 7 Q Have you ever seen a horizontal milling machine
 8 with a splash guard in place?
 9 A Yes.
 10 Q During operation?
 11 A Yes.
 12 Q In that operation was the operator working
 13 within a foot of the rotating blade?
 14 A They could be.
 15 Q As a safety consultant, have you ever been
 16 assigned the task of evaluating the safety
 17 utility of a splash guard or an overarm support
 18 brace on a horizontal milling machine?
 19 A Only in consideration of the slipping and
 20 tripping hazard from oil and chips splashing out
 21 from a machine.
 22 Q How about with respect to offering a chance to
 23 avoid or deflect contact between the operator's
 24 extremities and the rotating cutter?
 25 A Well, I've been asked by various employers

1 whether or not that met any particular standard,
 2 and I always refer them to either this document,
 3 if that is germane at the time, or -- in reading
 4 ANSI standards. And it would appear that the
 5 ANSI standard, if you put a splash shield up,
 6 gives you a pass on the one foot issue, because
 7 it says either/or, splash shield or one foot.
 8 I happen to prefer the distance thing.
 9 Q The same would go for an automatic spindle stop;
 10 is that correct, that could be considered a
 11 guarding device under ANSI?
 12 A A fully -- I don't know. It could be. I
 13 didn't see it in there.
 14 Q Well, it would stop the rotating of the cutter,
 15 which would avoid the application of ANSI?
 16 A Which I like.
 17 Q And you would agree with me that it is -- from
 18 the safety standpoint of the operator, it would
 19 be optimal to have them loading the fixture
 20 without the spindle turning?
 21 A If it's controlled by the employer and not by
 22 the operator, yes, I 100 percent agree with you.
 23 Q And would the disabling of an automatic spindle
 24 stop, which is controlled only by the employer,
 25 result in a violation of ANSI and/or OSHA?

1 MR. McDONALD: Objection. Go
 2 ahead.
 3 A With OSHA, it's in the eyes of the beholder.
 4 As a supervisor that scrutinized those cases,
 5 there would have to be a lot of other stuff that
 6 would have to show up in the file before I would
 7 issue a citation for that or recommend it.
 8 That being, is it recognized as a safety device
 9 by whatever documents you use? That would have
 10 to be clear to me. I'm just not sure that it
 11 is a safety device in any of the written
 12 literature I've read. That said, I really
 13 believe that, you know, a spindle stop is kind
 14 of a nice thing to have on a milling machine.
 15 (Recess taken.)
 16 BY MR. LIBER:
 17 Q Mr. Hayes, would you agree with me that as far
 18 as safe operations are concerned, an employer in
 19 an industrial operation should not have to wait
 20 for OSHA to come along and tell them whether or
 21 not they're in violation of a particular
 22 standard before they should make an operation
 23 safe for the operator?
 24 MR. McDONALD: Objection.
 25 A Sure. That's reasonable.

1 Q And as a matter of fact, there could be
 2 dangerous operations existing which creates
 3 significant hazards to employees without OSHA
 4 ever knowing about it, correct?
 5 A Sometimes that occurs.
 6 Q And you'd agree with me, wouldn't you, that the
 7 unguarded, unprotected rotating blade of a
 8 horizontal milling machine poses a hazard of
 9 significant harm to the operator?
 10 MR. EDDY: Objection.
 11 A I would say it poses a hazard. Significant
 12 harm is in the -- it depends on your perspective
 13 of if you're the injured party or not.
 14 Q But is it fair to say from a safety standpoint,
 15 when you're assessing the safety operation of a
 16 machine or an industrial operation, that's
 17 incumbent upon the safety specialist to presume
 18 the worst case scenario?
 19 A That's how OSHA trains its compliance staff,
 20 that's correct. You take the worse possible
 21 situation.
 22 Q And with respect to the hazard that is posed by
 23 that unguarded horizontal milling machine, we're
 24 talking about an operator coming in contact with
 25 that blade; is that correct? Whether it's a

1 hand, whether it's hair, whether it's a knee, a
 2 foot, a face; is that correct?
 3 A That would be the hazard, correct. That's one
 4 hazard.
 5 Q And from a safety analysis standpoint and a
 6 guarding standpoint, does it really make any
 7 difference how that body part actually gets to
 8 the point where it's in contact with the blade?
 9 A No. We're talking about the specific hazard
 10 itself. It wouldn't matter how it occurred.
 11 Q It wouldn't matter if the person is pushed; it
 12 wouldn't matter if they slipped; it wouldn't
 13 matter if they fainted; it wouldn't matter if
 14 they're startled by an explosion in another part
 15 of the plant; or it wouldn't matter if it's by
 16 horseplay. Is that fair to say?
 17 A It wouldn't matter. That's fair to say.
 18 Q And in this case, the operator, Anna Oller, was
 19 not involved in horseplay. Would it be fair to
 20 say that she was the innocent victim of
 21 another's horseplay? Would you agree with me
 22 there?
 23 A That is what she said.
 24 Q Do you have anything, with your knowledge of the
 25 case, that would lead you to conclude something

1 differently?
 2 A No.
 3 Q Under paragraph seven of your opinion, you say
 4 that the primary cause of the plaintiff's injury
 5 was the resultant response of her being startled
 6 by another employee; is that correct?
 7 A That's my opinion, that's correct.
 8 Q Okay. But as we've stated before -- or as we
 9 alluded to before, is it fair to say that if the
 10 rotating cutters were not rotating but had been
 11 stopped when the startling event occurred, it's
 12 more likely than not that Anna Oller would not
 13 have had all four of her fingers amputated by
 14 this machine?
 15 A If the cutter head had been stopped?
 16 Q Yes.
 17 A That's fair. Sure.
 18 Q With respect to your evaluation of the utility
 19 of the coolant splash guards, the arbor support,
 20 and the automatic spindle stop features, did you
 21 make any measurements of any of those devices?
 22 A I could not.
 23 Q Okay. Did you perform any type of a human
 24 factors analysis on a similar machine to
 25 determine if those devices would pose as any

1 type of a utility to preventing, avoiding, or
 2 deflecting the actual injury operation in this
 3 case?
 4 A I don't do human factor analysis.
 5 Q Did you take any measurements of, or seek to
 6 take any measurements of Mrs. Oller herself, the
 7 length of her arm, the length of her fingers,
 8 how high her armpit was off the ground, how tall
 9 she was, how much she weighed?
 10 A No.
 11 Q As far as your opinion that the coolant splash
 12 guard and arbor supports could not have
 13 prevented an unfortunate accident, are those
 14 factors, that is: The size, the height of the
 15 guards; the size, the height, the measurements
 16 of the operator, do those have any bearing on
 17 your opinion?
 18 A If I understand -- you're going to have to
 19 repeat that question. If I understand your
 20 question right --
 21 Q Let me repeat it.
 22 A There is a word that you referenced, that's
 23 why --
 24 Q You, in simple terms, say that the splash guard
 25 and arbor supports wouldn't have helped out in

1 anything in this case?
 2 A They're totally worthless.
 3 Q Do the dimensions of those devices, had they
 4 been there, in conjunction with Mrs. Oller's
 5 dimensions, play any role in your opinion?
 6 A No.
 7 Q What's the basis for your conclusion that
 8 Mrs. Oller was adequately trained on the
 9 operation and hazards associated with the
 10 machine?
 11 A It's my understanding that she had had several
 12 months of training, had been operating in the
 13 production capacity for some time, and there
 14 really isn't a lot of sophistication with the
 15 operation of the machinery. She had been shown
 16 how to operate the machine by other employees
 17 and had no problems with it prior to her
 18 accident.
 19 MR. LIBER: Mark that as 35,
 20 please.
 21 -----
 22 (Plaintiff's Exhibit No. 35 was marked.)
 23 -----
 24 Q What is your understanding of how long the
 25 plaintiff had been an employee of Wilton?

1 A Oh, boy. I can't recall. I keep wanting to
2 say six months, but that's just a stab in the
3 dark.
4 Q Can you tell me how long she had been operating
5 this particular machine prior to the injury?
6 A Several months, three or four months.
7 Q Would it make any difference in your opinion if
8 her testimony was that she was working the
9 machine only seven days before the date of the
10 accident?
11 A No.
12 Q Why not?
13 A Well, there is no -- for that kind of a
14 machinery, there is no magic number that jumps
15 out by any literature or in my opinion of how
16 many days would be adequate to make her
17 comfortable with the machine. I thought she had
18 been on it longer, but she may have been on a
19 similar machine doing different things.
20 Q What type of similar machine is that?
21 A I can't recall. I'd have to refer to my notes.
22 Q Are you familiar with ANSI standard B11.8-6 on
23 the training of employees for drilling, milling,
24 boring and related machines?
25 A Yes.

1 Q And are you satisfied that Wilton complied with
2 that standard?
3 A Well, again, ANSI doesn't discuss a time frame
4 or length of training, it just says they shall
5 be trained. Yeah, just says they should be
6 trained.
7 Q The question was: Are you satisfied that Wilton
8 complied with that standard in this case?
9 A Yes.
10 Q Would you agree with me that as far as the
11 machine tool industry is concerned, Anna Oller
12 at the time of the accident was a relatively
13 novice machine operator?
14 A That I can't answer.
15 Q Are you familiar with any information or studies
16 with respect to the assignment of experienced or
17 nonexperienced employees to general purpose
18 milling machines?
19 A There's none that I'm aware of.
20 Q Did you read Mr. Dempsey's deposition?
21 A Yes.
22 Q Do you remember the portion where he's talking
23 about the fact that the general purpose milling
24 machines -- and I'm paraphrasing -- should be
25 reserved for the most experienced of machinists,

1 machine operators?
2 A I don't recall that he said that, but he may
3 have.
4 Q Do you agree or disagree with that observation
5 or statement?
6 A For a production machine, I don't agree with
7 that.
8 Q Why not?
9 A Because the machine is so straightforward.
10 It's not a sophisticated CNC machine. It's
11 purely mechanical. Load the part, hit the
12 switch, take the part out.
13 Q And is the training for that type of machine
14 just like you described it, show them how to do
15 that and that's about it?
16 A Well, yes. And observe them under production --
17 the production routine, because I'm sure that if
18 parts aren't loaded correctly, you've got scrap
19 and that's going to be caught in final
20 inspection, and that's, generally, where you see
21 that the machine is not being operated
22 correctly, is in the scrap that it produces.
23 Q I'm sorry. Are you finished?
24 A Yes.
25 Q Under opinion number two, you identified several

1 modifications of the machine; is that correct?
2 A That's correct.
3 Q One of the terms you use is an emergency stop
4 button; is that correct?
5 A That's correct.
6 Q Is an emergency stop button a term of art in the
7 field of industrial safety or in industrial
8 engineering, to your knowledge?
9 A They're called E-stops, emergency stops,
10 correct.
11 Q With respect to a device that merely serves as a
12 power cutoff, would an emergency stop button be
13 a mischaracterization of such a device?
14 A Not necessarily. You can have an emergency
15 stop that is the disconnect switch. It could
16 be a trip wire. It can be a button. It can be
17 a momentary contact switch, can be interlock.
18 There's a number of things that constitute what
19 an E-stop is.
20 Q Is it fair to say when you use the term
21 "emergency stop" when you explain it to a new
22 operator, they are operating under the
23 understanding that if you operate that button or
24 that facility, whatever it may be, that the
25 machine will come to a complete stop?

1 A If you tell them that, sure.
 2 Q And is it fair to say that in this case, that
 3 was not the way the emergency stop operated?
 4 A That I don't know.
 5 Q Opinion three, you say, "The cutter area or
 6 point of operation where the plaintiff received
 7 her injuries cannot be guarded due to the size
 8 and the configuration of the cutters"; is that
 9 correct?
 10 A That's correct.
 11 Q How do you know that?
 12 A Just based upon the description that was given
 13 in the depositions.
 14 Q Any specific reference that you can recall?
 15 A Plus the photographs that I reviewed.
 16 Q You say that the installation of a guard would
 17 create an in-running nip point which would be
 18 equally as hazardous as no guard at all,
 19 correct?
 20 A That's correct.
 21 Q And could you explain the basis for that?
 22 A Well, you still have a hazard there, which is a
 23 rotating cutter. If the guard moves out of
 24 the way, you still have a hazard there, which is
 25 your rotating cutter. I believe that, as I

1 said before, it would exacerbate the condition
 2 and just create another trap point for the
 3 operator, as some guards do.
 4 Q How would it exacerbate the situation?
 5 A Well, it creates another trapping point
 6 dependent upon cutter rotation. You can still
 7 get your hand or finger caught in it. It really
 8 does nothing for what we're talking about, which
 9 is rotating cutters. A badly engineered guard
 10 is worse than no guard because you think the
 11 badly engineered guard is going to somehow
 12 protect you, when, in fact, it won't.
 13 Q But nevertheless, you don't think there even is
 14 a guard that can be engineered to address the
 15 safety issue imposed by the rotating cutter on a
 16 horizontal milling machine?
 17 A Well, in my experience, I have not seen one that
 18 I would accept in 30 years.
 19 Q Do you know what a miter saw is?
 20 A Yes.
 21 Q Are there any current guarding standards for
 22 consumer miter saws?
 23 A There probably is, but it's probably a consumer
 24 CSPC standard.
 25 Q Any that would apply to miter saws used in the

1 workplace, to your knowledge?
 2 A There would have to be possibly a
 3 self-closing -- they're called an awareness
 4 barrier.
 5 Q Are you familiar with the blade guards that are
 6 now available on all commercially sold miter
 7 saws?
 8 A No.
 9 Q You have no idea what I'm talking about?
 10 A I have a suspicion you're talking about the
 11 self-retracting guard, but that's on a -- that
 12 design came from a radial arm saw, as opposed to
 13 a miter saw. Miter saws came later with that
 14 type of guard system.
 15 Q Right. As far as the hazards that the blade
 16 would pose to an operator, is there a
 17 distinction between that of a miter saw and that
 18 of a horizontal milling machine, in your mind?
 19 A It's the same issue. You still can whack your
 20 hand off with the miter saw and the radial arm
 21 saw guard.
 22 Q But it's the best they could come up with?
 23 It's a fact, isn't it, that ANSI makes no
 24 such distinction between the installation of a
 25 rotating cutter guard and the creation of

1 in-running nip point?
 2 A You're saying there is no distinction?
 3 Q Right.
 4 A I think it's defined. I think "in-running nip
 5 point" is defined in the standard, as I recall.
 6 Q But as a matter of fact, the ANSI standard
 7 states that if it's a general purpose milling
 8 machine and in the automatic or semiautomatic
 9 mode, the rotating cutter has to be guarded if
 10 the operator is working within a foot of the
 11 cutter.
 12 A I believe it says something like that, yes.
 13 Q Does ANSI provide any distinction or any type of
 14 option for a feasibility study?
 15 A I -- it may. I don't recall.
 16 Q Do you see it anywhere on what we've marked as
 17 Exhibit --
 18 A 32 --
 19 Q -- 32?
 20 A -- and 35 both. And you're asking for a
 21 feasibility study?
 22 Q Yes.
 23 A I don't see anything about feasibility studies.
 24 Q ANSI is straightforward. If the operation
 25 comports with the element set forth in B11.8, it

61

62

1 states that a guard has to be installed; is that
 2 fair to say?
 3 A Yeah. It's pretty straightforward.
 4 Q Paragraph four -- excuse me, opinion four. You
 5 find nothing wrong with a person's use of gloves
 6 in close proximity to rotating bladed equipment?
 7 A Define "close proximity" for me.
 8 Q Within a foot.
 9 A Less than a foot?
 10 Q Yes.
 11 A It depends. If you're talking about a heavy
 12 mule skin work glove, I'd say that would be
 13 problematic working around any kind of rotating
 14 machinery. And it would be true with drill
 15 presses, milling machines. But if you're
 16 talking about a lightweight type of PPE,
 17 personal protective equipment, that's designed
 18 to protect the employee from water hazards and
 19 coolant hazards and those kind of things that
 20 are dermatological, I think at that point then
 21 one has to determine what's the worst case
 22 scenario for the operator.
 23 If the gloves -- that's another dichotomy
 24 with the OSHA standards. Employers are
 25 expected to provide the appropriate personal

1 protective equipment and have to make the right
 2 selection and decision.
 3 I personally have never seen a citation
 4 issued in my 30 years that dealt with employees
 5 wearing gloves on any kind of machinery. I'm
 6 not saying that it's proper, I just haven't seen
 7 it from an enforcement perspective.
 8 Q What's the difference between a heavy cloth work
 9 glove and a light rubber protective glove?
 10 A Well, for example, I carry around surgical
 11 gloves in the trunk of my car. That's a
 12 lightweight glove that is not designed for
 13 anything other than tactile, very minute tactile
 14 work. Whereas a heavy work glove, I'd be
 15 moving railroad ties.
 16 Q And what's the difference, from those two types
 17 of gloves, as far as operation within a foot of
 18 a horizontal milling machine?
 19 A Oh, the tearing factor. The rubber gloves, of
 20 course, are going to tear quite quickly. The
 21 heavy gloves won't.
 22 Q Have you ever heard of the term tear-away
 23 gloves?
 24 A No.
 25 Q And what's the relevance of the ability of a

63

64

1 glove to be able to tear, as between a rubber,
 2 light rubber glove, and a heavy fabric glove?
 3 A What's the ability of it to tear?
 4 MR. McDONALD: What's the
 5 relevance?
 6 Q What's the relevance?
 7 A Well, obviously, a lightweight surgical glove
 8 can shred a lot quicker than a horse hide glove
 9 or a mule skin glove. There is no relevance
 10 that I can see because there is no tear-away
 11 glove that I'm aware of. There's tear-away
 12 clothing.
 13 Q Let me back up. When I asked you the
 14 difference between the use of a light rubber
 15 glove for protective means from liquid as
 16 opposed to a heavy fabric glove in working in
 17 close proximity to a milling machine, you
 18 mentioned something about the tearing
 19 propensity?
 20 A Oh, yes.
 21 Q What's the relevance of that to machine
 22 operations?
 23 A The lightweight glove is not going to make the
 24 condition any worse or less or greater. It
 25 isn't going to matter in a lightweight glove.

1 Anything made out of latex, thin latex, I don't
 2 see how it's going to affect what can occur with
 3 what we're talking about.
 4 Q So are you suggesting that, in other words, if a
 5 machine operator who's working within a foot, a
 6 foot or less of a horizontal milling machine, if
 7 their gloved hand would have to inadvertently
 8 come in contact with the blade, it's more likely
 9 that a heavy fabric glove would do more damage
 10 than a lightweight rubber glove?
 11 A That sounds fair.
 12 Q And in this case, do you know the reason for why
 13 the operators were required to wear rubber
 14 gloves?
 15 A I would suspect because of the coolant. It
 16 could be a matter of individual choice. I'm
 17 not sure.
 18 Q Are there coolants, to your knowledge, which are
 19 available, which are not irritating to the skin?
 20 A No. It depends upon the individual.
 21 Q When were you a member of the National Safety
 22 Council?
 23 A Back in the '80s; '85, '86, '87.
 24 Q Would you have still been a member in 1991?
 25 A I don't think so. It's possible, though.

1 Q Okay. Would you do me a favor, and if we have
2 to try this case, would you get that information
3 so that we know it at trial?

4 MR. McDONALD: When he was a
5 member?

6 MR. LIBER: Yes.

7 A If I can figure out a way to do that, yeah. We
8 keep all our checks that were written. The
9 reason I think that '91 may have been that year,
10 because I started weapons work about that same
11 time, and I remember going to an NSC meeting in
12 Washington as part of that. So let me check,
13 though. Sure.

14 Q Placing in front of you what has been marked
15 previously as Plaintiff's Exhibit 18b5, a
16 National Safety Council bulletin on milling
17 machines.

18 MR. EDDY: Is there a date
19 on that, John?

20 MR. LIBER: It's copyrighted
21 1991 on the back.

22 MR. EDDY: Thank you.

23 Q That was part of the material submitted in
24 support of Mr. Rennell's affidavit.

25 Mr. Hayes, were you familiar with that

1 bulletin prior to perhaps this case?

2 A No.

3 Q On the first page it lists the primary hazards
4 associated with milling machines, and in the
5 second column on the top it identifies wearing
6 loose-fitting clothing and gloves. Is that fair
7 to say?

8 A That's usually part of any machine operation,
9 yes. That's what it says.

10 Q Do you agree with that National Safety Council
11 bulletin, that to reduce the hazard of milling
12 machines, when all practical, gloves should not
13 be worn, as well as loose-fitting clothing, near
14 or around the operation of a milling machine?

15 A Well, I agree and disagree both. If -- that's
16 the problem with the NSC data. It just says
17 "wearing gloves." It doesn't say that there's
18 alternatives to heavy work gloves. Whereas
19 loose sleeves, that's pretty well-defined.

20 But just wearing gloves, I disagree that
21 there is not a glove -- I think there is a glove
22 that is acceptable when working with coolants
23 that isn't going to make a hill of beans no
24 matter where you get caught it's so lightweight.
25 And the reason I say that is because today, as

1 well as when I was with OSHA, there is
2 significant enforcement actions for the coolant
3 oil responses that operators were getting from
4 the conversion of what's called nitrosamines in
5 the oil.

6 So we would make them wear gloves if there
7 was a response, a dermatological response from
8 the coolant, no matter what they were running.

9 Q Do you have an opinion of the role of the gloves
10 that Anna Oller was wearing made in this case?

11 A I don't think that would have made a difference
12 at all.

13 Q Why?

14 A Because, obviously, the gloves shredded. The
15 pictures that were taken timely to the event,
16 with the blood still there, there's lots of
17 pieces of glove fabric lying around that area.
18 I don't believe it was cut off after the
19 accident. I think the cutter had shredded it,
20 and that's what I'm talking about a lightweight
21 glove I anticipate would do.

22 Q Did you review or does any of the testimony come
23 to mind where the eye witnesses testified that
24 the glove was caught first and pulled her hand
25 into the rotating cutters?

1 MR. McDONALD: Objection.

2 A If that's what was said, I would strongly
3 discredit that statement.

4 Q Why?

5 A I don't believe that anybody was there to
6 actually see that occur, and if they did, they'd
7 have to look around the end of the spindle head.
8 That's pretty hard to do unless you're left or
9 right of it. It would make no sense that
10 anybody saw that.

11 Q Once again, you were not there at the time of
12 the accident to observe it yourself?

13 A That's correct.

14 Q And you did not deny the opportunity to actually
15 inspect the machine yourself?

16 A That's correct.

17 Q We've talked about opinion number five.

18 Opinion number six, you say the fixture
19 loading station from the cutters was adequate
20 and in compliance with current ANSI standards.

21 What do you base that upon?

22 A The fact that it falls somewhere, in my view, if
23 I take -- if I look at all the evidence, nobody
24 knows how far it was away from the loading point
25 to the cutter head. There's a lot of

1 assumptions that it's eight inches. I read
 2 that. Why couldn't it equally be assumed that
 3 it was 12 inches? Nobody measured it.
 4 There's estimations.
 5 The photographs are deceiving. When you
 6 look at them, it makes it look like it's within
 7 two inches of the cutter head, which is
 8 something -- in fundamental evidence photography
 9 classes I remember saying I could make something
 10 look any way I want it to look. So the
 11 photographs are useless. So without
 12 measurements taking timely to the event, I
 13 discount the inches. That's just a number that
 14 somebody grabbed out of the sky, as far as I'm
 15 concerned.
 16 Q As far as a nonbias review of this case then,
 17 wouldn't it be more fair to say that they were
 18 not compliant with the ANSI standards, rather
 19 than relying --
 20 A If the evidence clearly shows one thing or the
 21 other, you can go either way with it. It's one
 22 of those deals that you've got to show me.
 23 Q Right. Well, that's my point, is that it
 24 doesn't clearly show that it was, in fact, in
 25 compliance, correct?

1 A Not clear, that's true.
 2 Q So, in fact, your opinion in number six should
 3 accurately state that it is, in your opinion,
 4 not possible to determine whether ANSI has been
 5 complied with in this case, or words to that
 6 effect?
 7 A Well, why would I assume that it was not in
 8 compliance would be the question I would ask
 9 myself, and that's the question I did ask. Is
 10 there any evidence to tell me that it wasn't in
 11 compliance?
 12 Q And is there any evidence to tell you that it
 13 was in compliance?
 14 A Well, that's a catch-22. I'm chasing my tail
 15 around.
 16 Q But you're assuming the one side and refusing to
 17 assume the other.
 18 A Well, without evidence to support that other
 19 position, I tend to think most employers try to
 20 be good employers and be in compliance. I saw
 21 a lot of evidence that their engineering staff
 22 had done things in the plant to make it a safer
 23 place. That gives them a little more of a
 24 leading edge of credibility for me.
 25 Q That's after the fact, though.

1 A Oh, no, before the fact.
 2 Q When was your inspection?
 3 A I can't tell you that.
 4 Q It wasn't before this accident, was it?
 5 A No. No.
 6 Q As a matter of fact, your inspection couldn't
 7 have been until after this lawsuit was filed.
 8 Is that fair to say?
 9 A I doubt if they would hire me before then. If
 10 they did, I'd like to know it.
 11 Q Is it necessary for someone to be injured on a
 12 machine before it's determined to be dangerous?
 13 A I would think not.
 14 Q So what is the relevance of your observation
 15 that the milling machine in this matter was
 16 operated for many years without causing an
 17 accident?
 18 A They must have been doing something right. As
 19 I said initially, we don't see a lot of
 20 accidents on milling machines. That's a fact.
 21 There are not a lot that I can point to over the
 22 years that I have seen. Don't ask me why. I
 23 mean, it looks dangerous, it seems to me. It's
 24 like flatulence, it's irritating but not deadly.
 25 Can I use that as an analogy?

1 Q You already did.
 2 We'll have fun with that one.
 3 (Off the record.)
 4 Q Do you have anything else to say on the
 5 observation that it was operated for many years
 6 without causing any accident?
 7 A Well, yeah. Obviously, the squeaky wheel gets
 8 the oil in a lot of these safety issues. For
 9 example, right now beryllium is getting a lot of
 10 oil. For years now they're starting to show up
 11 with berylliosis, a lot of sickness going on, and
 12 OSHA has not promulgated a new standard that
 13 specifically changes the fundamental
 14 requirements since I was with the agency from
 15 1973 until 1989.
 16 It doesn't seem that milling machines are
 17 on the list of big events for them, and that
 18 tells me that there has not been a lot of
 19 injuries, or else they would have developed a
 20 vertical standard to deal with it. They have
 21 not done that.
 22 Q So it's your opinion that that makes the machine
 23 less hazardous?
 24 A No. It just doesn't seem that there's a big
 25 enough problem with it. You know, obviously,

1 you stick your hands in those cutters, you're
 2 going to get a pretty good cut, whether the
 3 cutter is rotating or not. The cutters are
 4 sharp. You can get a pretty severe laceration
 5 by inadvertently contacting the cutter head.
 6 And the fact that they haven't had any accidents
 7 prior to that -- I mean, usually an accident
 8 puts an employer on notice.
 9 Q There's no evidence of any prior accidents; is
 10 that correct?
 11 A None that I've seen, correct.
 12 Q Is it fair to say that regardless to OSHA or
 13 ANSI, you don't believe that either of those
 14 standards would apply to the machine in this
 15 case?
 16 A That's correct. I don't believe there was
 17 enough to support a violation being issued is a
 18 better way to put it.
 19 Q From a safety standpoint, can an employer get
 20 away with stating that they did not know of a
 21 standard in order to get out from under a
 22 violation?
 23 A No.
 24 Q Does that apply equally to the OSHA standard and
 25 the ANSI standard?

1 A Not the ANSI standard, but the OSHA standard
 2 itself.
 3 Q Okay.
 4 A However, I have to add that, if there is a trade
 5 association journal that deals with it, or if
 6 they're part of a committee that's on an ANSI
 7 standard, conceivably that would be the
 8 knowledge prerequisite to issue a citation.
 9 Q Can you believe that a company of Wilton's size
 10 which includes a machinery division that
 11 produces milling machines could legitimately
 12 claim that it did not know of the ANSI guarding
 13 standard?
 14 MR. McDONALD: Objection.
 15 A I can't answer that. I don't know what -- how
 16 much effort they put towards that. We see it
 17 frequently in a lot of companies, including
 18 very, very large companies.
 19 Q Well, so then are you saying that it's okay for
 20 a large company such as that to violate industry
 21 safety standards and get away with it?
 22 MR. McDONALD: Objection.
 23 A No. I'm just saying that there's large, very
 24 large companies and small companies that miss
 25 things and don't keep up with the standards

1 and --
 2 Q Does that justify hazardous or unsafe practices?
 3 MR. McDONALD: Objection.
 4 A Not necessarily, no. Of course not.
 5 MR. LIBER: Thank you.
 6 That's all the questions I have for you.
 7 MR. EDDY: I've got a few.
 8 MR. McDONALD: Okay.
 9 -----
 10 EXAMINATION OF RICHARD HAYES
 11 BY MR. EDDY:
 12 Q You indicated that -- you said in all your years
 13 you don't see many milling machine injuries?
 14 A I haven't, no.
 15 Q When you were working with OSHA, did you ever
 16 investigate a milling machine injury such as
 17 this?
 18 A Small -- such as this?
 19 Q Yes.
 20 A Well, I don't know. Involving a horizontal
 21 milling machine.
 22 I have investigated accidents on
 23 horizontal milling machines as a result of a
 24 record review of minor injuries.
 25 Q Oh, okay.

1 A Only.
 2 Q With respect to your belief that the automatic
 3 spindle stop -- which I think you did indicate
 4 you refer to as kind of a nice thing to have on
 5 the machine, but you said you didn't consider it
 6 a safety device because it's optional with the
 7 employee, I think was your exact testimony.
 8 Do you recall that?
 9 A Correct. Yes.
 10 Q Actually, this generation of machine, way back
 11 50 some-odd years ago, when it was designed and
 12 built, the device itself was optional with
 13 purchaser. Is that what you meant to say, that
 14 it was optional with the purchaser, or were you
 15 meaning to say it was an optional device for the
 16 employee?
 17 A For the employee.
 18 Q Okay. And by that I take it you're referring
 19 to the fact that an automatic spindle safety
 20 stop can be flipped on and off by a switch,
 21 essentially?
 22 A That's my problem with it.
 23 Q If it was a device that -- would you consider it
 24 a safety device if it was both nonoptional with
 25 the purchase of the machine and it couldn't be

1 turned off?
 2 A Yes.
 3 Q Okay. And so, in fact, if you're one of the
 4 purchasers that buys that feature way back when,
 5 when machines like this were being built and
 6 sold and you purchased the option, and, in fact,
 7 it's being used, you would consider it -- well,
 8 it would operate as a safety device while it's
 9 being used. Is that a fair statement?
 10 A And if the employee actually used it.
 11 Q Right.
 12 A And that was verified through direct
 13 observation, yes.
 14 Q Had it flipped on rather than flipped off, it
 15 would operate -- it would function as a safety
 16 device; you would agree with that?
 17 A Yes.
 18 Q Okay. Have you ever operated a milling machine?
 19 A I have, but not for very long.
 20 Q You have an understanding of why on a machine
 21 such as this the automatic spindle safety stop
 22 feature -- the automatic spindle stop feature
 23 can be toggled on or toggled off? Do you have
 24 an understanding that there are milling
 25 operations that may be done on these machines

1 that require finely hand milling, that is
 2 cranking the piece that's being milled into the
 3 cutter and back, and back and forth into the
 4 cutter, away from the cutter?
 5 A That's my understanding, yes.
 6 Q And so on a machine like that where you want to
 7 have certain milling operations that you're
 8 going to do by hand like that, an automatic
 9 spindle safety stop would be an impediment
 10 during that sort of fine milling operation; is
 11 that correct?
 12 A That lends itself to the feasibility issues I
 13 was talking about before. You couldn't do the
 14 job.
 15 Q Right. Now, with respect to the splash guard
 16 and arbor support feature, you indicated that
 17 you thought that the splash guard and overarm
 18 support -- arbor support brace were -- your
 19 words were "totally worthless" in terms of
 20 preventing any accident or preventing this
 21 accident?
 22 A Preventing this accident.
 23 Q Okay. What do you base that on?
 24 A The fact that it really doesn't serve to guard
 25 the cutters.

1 Q Okay.
 2 A The whole issue of guarding cutters is why we're
 3 here.
 4 Q Let's just take the splash guard.
 5 As the splash guard was originally
 6 intended, it's intended from a functional point
 7 of view to protect the operator from having
 8 liquid sprayed on him or her that comes off the
 9 piece that's being milled.
 10 A That's correct.
 11 Q All right. And so I think we can agree, it
 12 wasn't intended as a design feature to guard as
 13 a point-of-operation guard, the cutters
 14 themselves. We can agree on that, correct?
 15 A Absolutely.
 16 Q Is it your testimony, however, that a splash
 17 guard that's in place simply would never, from a
 18 practical point of view, operate as a device
 19 that blocks inadvertent travel of a hand or arm
 20 toward a rotating cutter?
 21 A That's my position, yes.
 22 Q That it never can?
 23 A I don't see how it could.
 24 Q Well, have you ever seen any of the pictures of
 25 this type of horizontal milling machine with a

1 splash guard and arbor support brace on it?
 2 A Yes.
 3 Q Have you? And I would show you just -- I have
 4 an operator's instruction book that was part of
 5 the interrogatory responses in our discovery
 6 request to the plant, page two of the operator's
 7 instruction book, and it shows a horizontal
 8 milling machine of the same vintage, correct?
 9 A Uh-huh.
 10 Q And it shows -- you can tell on here what the
 11 arbor -- overarm support brace is or the arbor
 12 support brace. Do you see that on here?
 13 A Yeah.
 14 Q And you can see the splash guard is actually
 15 behind it, correct?
 16 A Yes.
 17 Q And if you're an operator and you're standing --
 18 I'm just taking hypothetically, I'm not saying
 19 this case. I'm just saying hypothetically, if
 20 you're an operator standing directly in front of
 21 the center portion of the arbor -- and the arbor
 22 is where the cutter is loaded on the arbor,
 23 correct?
 24 A Correct.
 25 Q And if you're standing right in front of where

81

82

1 the arbor comes into the overarm support brace,
 2 you're standing right in front of that, and you
 3 moved your hand, your right hand from the right
 4 position to a left position along the bottom
 5 edge of the table, don't you think it would be
 6 likely that at some point your hand would
 7 actually come in contact or perhaps your wrist
 8 in contact with the leading edge of the splash
 9 guard before it would hit the cutter?
 10 A It could.
 11 Q And you would agree then that there are some
 12 circumstances depending on the exact position of
 13 the operator, the exact location and width of
 14 the splash guards -- because they come in
 15 different sizes -- that it might, under some
 16 circumstances, in fact, operate in a fashion
 17 that would block inadvertent contact with the
 18 cutters?
 19 A With all that, all those variables, yes.
 20 Q Okay. That's fine.
 21 You're not saying it could never do that?
 22 A No.
 23 Q And you made no effort in this case to try to
 24 figure out how tall the plaintiff is and where a
 25 standard splash guard might be configured to try

1 to figure out whether there could be, in this
 2 case, some blocking action that might have
 3 prevented this accident?
 4 A I did nothing like that.
 5 Q Now, I want to understand what Plaintiff's
 6 Exhibit 34 is, this OSHA standards
 7 interpretation and compliance letter.
 8 You obtained this?
 9 A Yes.
 10 Q And is it available on the website as noted in
 11 the bottom left-hand corner?
 12 A Yes.
 13 Q Okay.
 14 A You have to go through Ochas to get it, though.
 15 Q What is that?
 16 A It's a consultant secret.
 17 Q Is it -- you have to waive a magic wand to --
 18 A You have to know somebody inside to get in
 19 there.
 20 Q So if I'm surfing the Web --
 21 A Yes, you can. There's a link. I'm just -- I
 22 didn't want John to hear that.
 23 Q That's all right.
 24 And what is an interpretation and
 25 compliance letter?

83

84

1 A It is the formal document that is sent out to
 2 the field in response to either an OSHA inquiry
 3 from within OSHA or from an inquiry outside of
 4 OSHA. It's the official document that guides
 5 the field staff.
 6 Q Does it qualify as, I guess, the agency's
 7 official interpretation of some aspect of one of
 8 the OSHA standards and regulations?
 9 A It's their position, based upon a lot of input
 10 from manufacturing, the people that make the
 11 machinery. I think a good example was, as I
 12 said before, press brakes. There is no good
 13 way to guard a press brake for some
 14 applications. And then they have to come up
 15 with a memorandum to get field staff guidance
 16 because the compliance staff would be citing all
 17 the press brakes and eating up the litigation
 18 budget. So this is the official document that
 19 they point to or they send to their staff if a
 20 question comes up.
 21 Q And this was, apparently, first issued by the
 22 Occupational Safety and Health Administration of
 23 the United States Department of Labor back in
 24 mid February of 1976, correct?
 25 A Yes. And it's never changed.

1 Q Okay. That was my next question. It's never
 2 changed.
 3 There were some press guards on electric
 4 miter boxes and with regard to saws, and you
 5 said that even with those modern guards, those
 6 are guards that -- I see them, in a miter box
 7 situation when you've got the blades rotating,
 8 it's got a plastic cover guard around the
 9 underside of the rotating blade. And then as
 10 you force the handle down, that plastic cover
 11 strikes the piece of wood that's going to be cut
 12 and retracts, correct?
 13 A Correct.
 14 Q That was the guard that you were talking about?
 15 A Correct. It's called an unused portion guard.
 16 Q A what?
 17 A An unused portion guard.
 18 Q You said it's just as possible to whack your
 19 hand off with one of those on it?
 20 A It's very convenient for that.
 21 Q How does that happen?
 22 A In woodworking, in particular, and in meat
 23 cutting where they use the same kind of a saw,
 24 the guard gets sticky with flour and other
 25 materials, resins, and the guard will kind of

1 jam up when you're pulling it down. It
 2 hesitates. This is what I see. And usually
 3 the guard only has to cover the outer periphery
 4 of the saw teeth, whether it be a miter saw or
 5 whether it be a radial arm saw. And what it's
 6 intended to do is to tell you, okay, something's
 7 touching my fingers, the next thing that's going
 8 to touch is going to be the blade.
 9 Q You mean the wood --
 10 A Well, if your hand's in there, it's going to cut
 11 your hand.
 12 Q Right.
 13 A What happens is, this guard tends to stick up
 14 just a little bit and the blade always hits your
 15 hand anyway. We've done accidents with those.
 16 Q Okay.
 17 A So they don't really work for any other reason
 18 other than to prevent any inadvertent contact
 19 when the saw's at rest.
 20 MR. EDDY: I don't have
 21 anything further. Thanks.
 22
 23
 24
 25

THE STATE OF OHIO,) SS:
 COUNTY OF CUYAHOGA.)

I, Tracy L. Barker, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that RICHARD HAYES, was first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed on a computer/printer, and that the foregoing is a true and correct transcript of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified. I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 19th day of July 2001.

Tracy L. Barker, Notary Public
 within and for the State of Ohio
 My Commission expires June 6, 2005.

THE STATE OF _____)
) SS:
 COUNTY OF _____)

Before me, a Notary Public in and for said state and county, personally appeared the RICHARD HAYES, who acknowledged that he did sign the foregoing transcript and that the same is a true and correct transcript of the testimony so given.

IN TESTIMONY WHEREOF, I have hereunto affixed my name and official seal at _____ this ____ day of _____, 2001.

 RICHARD HAYES

 Notary Public
 My Commission expires: _____