

**In The Matter Of:**

*Todd L. Reber v.  
Lovejoy Steel*

---

*Richard Hayes  
July 28, 2003*

---

*Mehler & Hagestrom  
Court Reporters  
1750 Midland Building  
101 West Prospect Avenue  
Cleveland, OH 44115  
(216) 621-4984 FAX: (216) 621-0050*

*Original File 030728RH.ASC, 60 Pages  
Min-U-Script® File ID: 2508954684*

**Word Index included with this Min-U-Script®**



Page 1

[1] IN THE COURT OF COMMON PLEAS  
[2] PORTAGE COUNTY, OHIO  
[3]  
[4] TODD L. REBER,  
[5] Plaintiff,  
[6] JUDGE ENLOW  
[7] -vs- CASE NO. 2002-CV-00985  
[8] LOVEJOY STEEL,  
[9] Defendant.  
[10] Deposition of RICHARD HAYES, taken as if  
[11] upon cross-examination before Sandra L. Rice, a  
[12] Notary Public within and for the State of Ohio,  
[13] at the offices of Landskroner & Grieco, 1360 West  
[14] Ninth Street, Cleveland, Ohio, at 1:30 p.m. on  
[15] Monday, July 28, 2003, pursuant to notice and/or  
[16] stipulations of counsel, on behalf of the  
[17] Defendant in this cause.  
[18]  
[19] MEHLER & HAGESTROM  
Court Reporters  
[20]  
[21] CLEVELAND AKRON  
1750 Midland Building 1015 Key Building  
Cleveland, Ohio 44115 Akron, Ohio 44308  
[22] 216.621.4984 330.535.7300  
FAX 621.0050 FAX 535.0050  
[23] 800.822.0650 800.562.7100  
[24]  
[25]

[1] APPEARANCES:  
[2] Paul Grieco, Esq.  
William J. Lucas, Esq.  
[3] Landskroner & Grieco  
1360 West Ninth Street, Suite 200  
[4] Cleveland, Ohio 44113  
(216) 522-9000,  
[5]  
On behalf of the Plaintiff;  
[6]  
Harold Sigmier, Esq.  
[7] Weston, Hurd, Fallon, Paisley & Howley  
2500 Terminal Tower  
[8] Cleveland, Ohio 44113  
(216) 241-6602,  
[9]  
On behalf of the Defendant.

Page 1

Page 3

[1] WITNESS INDEX  
[2]  
[3] PAGE  
[4] CROSS-EXAMINATION  
RICHARD HAYES  
[5] BY MR. SIGMIER..... 4  
[6]  
EXHIBIT INDEX  
[7]  
[8] EXHIBIT MARKED  
[9]  
Defendant's Exhibit A..... 35

Page 4

[1] RICHARD HAYES, of lawful age,  
[2] called by the Defendant for the purpose of  
[3] cross-examination, as provided by the Rules of  
[4] Civil Procedure, being by me first duly sworn, as  
[5] hereinafter certified, deposed and said as  
[6] follows:

**CROSS-EXAMINATION OF RICHARD HAYES**

**BY MR. SIGMIER:**

[9] **Q:** Mr. Hayes, I'm Harry Sigmier. We met. I  
[10] represent Lovejoy Steel. I'll be asking you  
[11] questions about your opinions in this case. You  
[12] have been deposed many times before so you  
[13] understand how this works?  
[14] **A:** Yes.  
[15] **Q:** Did you bring your file with you?  
[16] **A:** Yes.  
[17] **Q:** Could you show me what is in it? Looks like you  
[18] have Mr. Reber's deposition transcript in here?  
[19] **A:** Yes.  
[20] **Q:** And I take it you have read that?  
[21] **A:** Yes.  
[22] **Q:** Have you read any other documents since you wrote  
[23] your report in this case besides Mr. Reber's  
[24] deposition transcript?  
[25] **A:** I'm not sure. Let me look at Mr. Perry's report.

Page 5

[1] I think that was subsequent. I'll see what the  
[2] date is. What's the date of my report?  
[3] **Q:** It's dated October 21st, 2002.  
[4] **A:** Yeah, Mr. Perry's.  
[5] **Q:** Mr. Perry's report?  
[6] **A:** Correct.  
[7] **Q:** Have you reviewed any other documents —  
[8] **A:** I'll have to go through this.  
[9] **Q:** — since your report?  
[10] **A:** What is the date of my report?  
[11] **Q:** October 21st, 2002.  
[12] **A:** It looks like Mr. Reber's deposition was  
[13] subsequent to the report.  
[14] **Q:** Right.  
[15] **A:** That's the only thing.  
[16] **Q:** Okay. Have you discussed this case with anyone  
[17] besides Mr. Grieco and Mr. Lucas?  
[18] **A:** No.  
[19] **Q:** Have you consulted any textbooks in connection  
[20] with this case?  
[21] **A:** Yes.  
[22] **Q:** What textbooks?  
[23] **A:** 29 CFR 1910.  
[24] **Q:** Anything else?  
[25] **A:** I did some Internet research on specialty steel

Page 6

[1] storage, did not get anything out of that.  
[2] **Q:** What were you looking for?  
[3] **A:** I was looking for different methods of storing  
[4] round stock, what was commercially available.  
[5] **Q:** And you found nothing on the Internet?  
[6] **A:** Nothing that would have applied to this case, no.  
[7] **Q:** Have you reviewed any other texts or any other  
[8] types of authorities?  
[9] **A:** No.  
[10] **Q:** Have you conducted any experiments in connection  
[11] with this case?  
[12] **A:** No.  
[13] **Q:** Have you consulted with any of your peers in  
[14] connection with this case?  
[15] **A:** No.  
[16] **Q:** Have you ever worked as a material handler?  
[17] **A:** No.  
[18] **Q:** Have you ever had a job operating an overhead  
[19] crane?  
[20] **A:** Yes.  
[21] **Q:** Tell me about that.  
[22] **A:** I worked for Ohio Power Company and a lot of the  
[23] equipment that we used you needed an overhead  
[24] crane to manipulate the internal parts, parts and  
[25] pieces of let's say a transformer. That was an

Page 7

[1] Underslung, not a very large crane. It was ten  
[2] ton.  
[3] **Q:** When was that?  
[4] **A:** That would have been in the late '60s, early  
[5] '70s.  
[6] **Q:** What was your position there?  
[7] **A:** I was a substation maintenance person.  
[8] Additionally I have operated various cranes,  
[9] hydraulic truck mounted, mobile, and overhead  
[10] cranes at a crane certification class I took in  
[11] Orlando, Florida through the Crane Certification  
[12] Bureau.  
[13] **Q:** When was that?  
[14] **A:** That would have been in the late '70s, '78, '79.  
[15] **Q:** Were you still with OSHA at the time?  
[16] **A:** That's correct.  
[17] **Q:** When you worked at Ohio Power how many years were  
[18] you there approximately?  
[19] **A:** Five.  
[20] **Q:** Were you a substation maintenance person the  
[21] entire time?  
[22] **A:** Yes. I hired in as substation maintenance.  
[23] **Q:** Did you work at a particular substation?  
[24] **A:** No. We worked about a 29-county area in  
[25] northwest Ohio.

Page 8

[1] Q: And I take it you did maintenance work at  
[2] substations within those counties?  
[3] A: We did installations, setup, testing, modifying,  
[4] and routine maintenance; that's correct.  
[5] Q: Of substation equipment?  
[6] A: Strictly substation.  
[7] Q: So the type of overhead crane that was at —  
[8] overhead cranes that were at Lovejoy what type  
[9] were they?  
[10] A: I believe those were Underslung.  
[11] Q: So you have operated an Underslung crane?  
[12] A: Well, I did with Ohio Power but I also as part of  
[13] a technical safety audit that we do we operate  
[14] the cranes to actually inspect cranes. Part of  
[15] that inspection process you have to operate it.  
[16] Radio controlled as well as pendent controlled,  
[17] and generally you end up walking the bridge  
[18] itself, mostly though, to actually pick material  
[19] up the only place I operated one for the picking  
[20] of material would be at Ohio Power Company. The  
[21] rest were done dead hook which means nothing is  
[22] on it.  
[23] Q: Do you have a CV by the way?  
[24] A: I didn't bring one with me. I can get you one.  
[25] Q: Can you get me one?

Page 9

[1] A: Yeah.  
[2] Q: Have you ever published anything dealing with  
[3] overhead cranes or material handlers other than  
[4] report letters?  
[5] A: No.  
[6] Q: Have you ever failed to qualify as an expert in a  
[7] court case?  
[8] A: No.  
[9] Q: Have you ever had a court disallow any opinion  
[10] testimony of yours in a court case?  
[11] A: No.  
[12] Q: According to your letter in this case you have  
[13] inspected steel service plants similar to  
[14] Lovejoy; is that correct?  
[15] A: That's correct.  
[16] Q: Are you able to estimate for me about how many of  
[17] those plants you have inspected?  
[18] A: Oh, boy, in my career?  
[19] Q: Yes.  
[20] A: Over 30 years, probably a couple hundred, anyway.  
[21] Q: Have most of those inspections been while you  
[22] were with OSHA?  
[23] A: A lot of them, yes. The actual split I can't  
[24] tell you.  
[25] Q: So the split would be between your work with OSHA

Page 11

[1] and then your subsequent work as a private  
[2] consultant?  
[3] A: Since 1989, right.  
[4] Q: Have most of the steel service plants that you've  
[5] inspected been in Ohio?  
[6] A: I would say the steel service plants have but —  
[7] yes, yes, exactly.  
[8] Q: How would you compare the Lovejoy plant with some  
[9] of the other steel service plants that you —  
[10] strike that. Did you inspect the Lovejoy  
[11] facility?  
[12] A: I did go there, yes.  
[13] Q: When was that?  
[14] A: I can't tell you exactly when it would have been,  
[15] probably the early part of this year, latter part  
[16] of last year.  
[17] Q: Was it before you wrote your report?  
[18] A: I can't tell you that either.  
[19] Q: Because I didn't see it mentioned in your report  
[20] that you had inspected it?  
[21] A: That's a good point, then I probably did not  
[22] inspect it prior to the report or it would have  
[23] been in there.  
[24] Q: Who was with you when you inspected the plant.  
[25] A: Mr. Grieco.  
[1] Q: Anyone else?  
[2] A: One of the attorneys. I'm not sure if he's with  
[3] your firm or not but it was a defense attorney.  
[4] Q: Did you take any pictures?  
[5] A: No.  
[6] Q: Was the plant in operation?  
[7] A: Yes.  
[8] Q: How long were you there about?  
[9] A: Less than an hour.  
[10] Q: Did you make any notes as a result of that  
[11] inspection?  
[12] A: No.  
[13] Q: Did you watch any particular functions being  
[14] performed?  
[15] A: No.  
[16] Q: Did you observe the different types of racks that  
[17] they had at Lovejoy?  
[18] A: I looked at the pin racks and their storage area  
[19] for the round stock.  
[20] Q: How would you compare the Lovejoy facility to  
[21] some of the other steel service plants you have  
[22] inspected over the years?  
[23] A: Compare as to what?  
[24] Q: Let's talk about the adequacy of this equipment.  
[25] A: Well, the cranes certainly were capable of moving

Page 12

[1] the material. The only difference between this  
[2] plant and any other plant including the steel  
[3] manufacturing facilities like U.S. Steel,  
[4] Bethlehem, and LTV is the various grade of soft,  
[5] hard, brittle types of stock they are using, and  
[6] the reason I say it was different is because the  
[7] only thing I saw there was round stock. Usually  
[8] you have square bar and if they are a  
[9] multi-product type processor they'll have both,  
[10] but this just had the round stock that I did see.  
[11] Q: Did you find the lighting to be adequate?  
[12] A: I didn't think it was but I did not measure it.  
[13] Q: Did your inspection of the plant cause you to  
[14] alter any of the opinions stated in your report?  
[15] A: No.  
[16] Q: Did you talk with any of the employees while you  
[17] were there?  
[18] A: No, other than to say hello to, I think, one of  
[19] the plant managers and I can't remember what his  
[20] name was.  
[21] Q: Do you remember testifying in a case called Susan  
[22] Gibson versus Drainage Products, Inc.?  
[23] A: Yes.  
[24] Q: Okay. Was that a lock-out/tag-out case?  
[25] A: I did not testify in that case.

Page 13

[1] Q: Gave a deposition in that case?  
[2] A: Yeah. It's still ongoing.  
[3] Q: You remember giving the deposition though?  
[4] A: I don't remember the dep, but I remember going to  
[5] trial and we got a motion for directed — we  
[6] filed a motion for directed verdict, won that,  
[7] then it was appealed. I don't remember the dep  
[8] though.  
[9] Q: Who were you working for in the Gibson case?  
[10] A: It would have been a company out of — a law firm  
[11] out of Paulding County.  
[12] Q: Cook, Troth & Burkhard?  
[13] A: That's correct.  
[14] Q: So you were consulting on behalf of the defendant  
[15] in that case?  
[16] A: That's correct.  
[17] Q: I have the transcript of your deposition from  
[18] that case.  
[19] A: Okay.  
[20] Q: I wanted to ask you a few questions about it. Do  
[21] you remember testifying in that case that the  
[22] issue of whether an accident is substantially  
[23] certain to occur is a legal conclusion?  
[24] A: That's correct.  
[25] Q: And do you remember testifying in the Gibson case

Page 14

[1] that you were not qualified to give an opinion on  
[2] the question of whether or not an accident was  
[3] substantially certain to occur?  
[4] A: That probably would be my answer; that's correct.  
[5] Q: And do you still feel that way today that you are  
[6] not qualified to give an opinion on whether an  
[7] accident is substantially certain to occur?  
[8] A: Well, yes.  
[9] Q: Because that's a legal question for either the  
[10] court or the jury to determine?  
[11] A: For a jury, that's correct.  
[12] Q: And do you recall testifying in the Gibson case  
[13] that your definition of substantial certainty was  
[14] that something was more likely than not that it  
[15] was going to occur?  
[16] A: That sounds like what I would say. Who is the  
[17] attorney who deposed me? Can you tell me that  
[18] and that will help me refresh my memory.  
[19] Q: It was Patrick Murray.  
[20] A: Oh, Pat. Okay.  
[21] Q: So is that your working definition of what  
[22] substantial certainty means?  
[23] A: As a layperson would assign, yeah.  
[24] Q: That something is more likely than not to happen?  
[25] A: Correct.

Page 15

[1] Q: Now, have you ever met Mr. Reber?  
[2] A: No.  
[3] Q: Have you ever discussed this case with him?  
[4] A: No.  
[5] Q: Did you do any kind of search to determine  
[6] whether Lovejoy had any OSHA violations?  
[7] A: I did.  
[8] Q: Did it have any?  
[9] A: If they did they'd be in here and they're not in  
[10] here so.  
[11] Q: You mean in the documents that Lovejoy —  
[12] A: Correct.  
[13] Q: Did you do any kind of independent search through  
[14] OSHA?  
[15] A: Yes. That is what I mean. I would have had the  
[16] results of that audit or that background check in  
[17] here.  
[18] Q: But you were able to determine that  
[19] independently?  
[20] A: I didn't see anything on the Internet. It's a  
[21] limited resource but generally that's where  
[22] you'll find it.  
[23] Q: Are you able to describe for me the different  
[24] types of racking systems that Lovejoy had at the  
[25] time of this accident?

Page 16

[1] A: I observed, in my visit, I observed that they had  
[2] pin racks, what I call pin racks which are  
[3] cylindrical in nature, maybe three inches in  
[4] diameter, pushed into a hole into either the  
[5] concrete or into the flat stock. The other I  
[6] observed would be the I-beam type racks or H-beam  
[7] if you want to call it H-beam.

[8] Q: Did you go throughout the plant to see what  
[9] different types of racks they had there?

[10] A: No. We went strictly to the area where the  
[11] accident occurred.

[12] Q: And in that area did you see both the pin racks  
[13] and the I-beam type racks?

[14] A: I recall focusing my attention on the pin racks.  
[15] I didn't pay much attention to the I-beam or  
[16] H-beam racks.

[17] Q: Do you know what Lovejoy used the pin racks to  
[18] store and what it used the I-beams racks to  
[19] store, how the racks were used differently?

[20] A: The only evidence of how they were used  
[21] differently was from the photographs that are  
[22] part of this file. I don't know that there's any  
[23] difference in storage. Storage is storage, but  
[24] there is a limitation in height and things like  
[25] that and strength.

Page 17

[1] Q: In reading any of the deposition testimony do you  
[2] recall that some of the witnesses talked about  
[3] the different ways that they used the different  
[4] racks?

[5] A: Nothing that stands out.

[6] Q: Okay. So you say there were limitations as to —  
[7] did you say weight?

[8] A: The number of pieces, I felt, that could be  
[9] stored inside the pin racks was different than  
[10] what could be stored inside the H-beam or I-beam  
[11] racks.

[12] Q: Fewer pieces could be stored inside the pin  
[13] racks?

[14] A: Correct.

[15] Q: Could you make any estimate as to how many pieces  
[16] could be stored in the pin rack?

[17] A: No, but one of the diagrams that was taken during  
[18] the deposition it showed that there was probably  
[19] eight pieces of round stock in one of the higher  
[20] pin racks, but I think it was Reber's dep when he  
[21] described how the accident occurred.

[22] Q: Is the height to which you can stack steel in  
[23] these pin racks determined by the height of the  
[24] pins?

[25] A: That's one determination, but the strength of the

Page 18

[1] pins would be the most important one to me.

[2] Q: When you were at Lovejoy did you see any, in the  
[3] pin racks, did you see any steel stacked higher  
[4] than the height of the pins?

[5] A: I did not.

[6] Q: Alright. And is it your understanding that  
[7] Lovejoy did not stack steel higher than the  
[8] height of the pins?

[9] A: I did not see any of that.

[10] Q: Now —

[11] A: During my visit I didn't see any of that.

[12] Q: Is it your understanding that Mr. Reber's  
[13] accident involved in the area of one of the pin  
[14] racks?

[15] A: Yes.

[16] Q: That the steel that he was attempting to move was  
[17] in a pin rack?

[18] A: Correct.

[19] Q: Did you see Mr. Reber's testimony that the steel  
[20] was as high as his nipples? Did you notice that  
[21] in his deposition?

[22] A: I saw as high as his chest and as high as his  
[23] nose.

[24] Q: When you were at Lovejoy did you see any pin  
[25] racks that had pins that went as high as an

Page 19

[1] average person's nose?

[2] A: No.

[3] Q: How about chest?

[4] A: No.

[5] Q: How about waist?

[6] A: No.

[7] Q: How high up did the pins go?

[8] A: At most, a foot and a half, two feet.

[9] Q: So if Mr. Reber was in an area where the steel  
[10] was stacked up to his chest or his nose could  
[11] that have been in a pin rack area?

[12] A: According to him it was. In fact the diagram  
[13] shows the round pins coming up that high and I  
[14] thought that was excessive but I didn't see it.

[15] Q: Do you see some inconsistency between what you  
[16] saw in terms of the height of the pin racks and  
[17] what Mr. Reber described in his deposition as far  
[18] as the steel coming up to his nose or his chest?

[19] A: I guess there would be. The inconsistency would  
[20] be that the height of the pins conflicted with  
[21] what I saw actually in the plant, but I did not  
[22] look at that time for high pins. They may have  
[23] had them; they may not have had them.

[24] Q: Let me show you, you've looked at the  
[25] photographs, you have them in your file as well,

Page 20

[1] showing you deposition Exhibits, Plaintiff's  
[2] Exhibits 1A, do you have that there?  
[3] A: Yes.  
[4] Q: And compare that to 1C.  
[5] A: Okay.  
[6] Q: Are those different types of rack systems?  
[7] A: Yes, they are.  
[8] Q: How are they different?  
[9] A: From the pins racks?  
[10] Q: No, no. From one another. Is 1A different from  
[11] 1C or is that the same type of rack?  
[12] A: It's difficult to tell. They look the same  
[13] except if you look at 1A at the very top of the  
[14] beam, there is what is called a clip joint on 1C  
[15] that isn't on 1A. And the clip joint is a  
[16] protrusion that comes out at the end of the beam,  
[17] number one, and if you go halfway down the beam  
[18] there are — I don't know what they are, they're  
[19] protrusions that stick out and on 1A it doesn't  
[20] have that.  
[21] Q: Do you know what the clip joints are for?  
[22] A: No, I don't. Well, I know what they're for in  
[23] I-beams; that's where you secure it to another  
[24] piece of steel.  
[25] Q: Do you know whether they serve any function in

Page 21

[1] these racks?  
[2] A: I don't.  
[3] Q: Based on rather your review of this case what was  
[4] Mr. Reber standing on at the time of his  
[5] accident?  
[6] A: He was standing on a couple pieces of round  
[7] stock, round steel stock.  
[8] Q: Based on your review how were his feet  
[9] positioned?  
[10] A: One would have — one foot, his right foot I  
[11] believe was up on an adjacent piece of round  
[12] stock and his left foot was on another piece of  
[13] round stock.  
[14] Q: Were his feet at the same level?  
[15] A: No, I don't believe so.  
[16] Q: One foot was higher than the other?  
[17] A: Higher than the other, correct.  
[18] Q: The right foot was higher or the left foot was  
[19] higher?  
[20] A: The right foot was higher.  
[21] Q: And what is your basis for that testimony? Did  
[22] that come out of his deposition?  
[23] A: As best I recall that's the impression that I  
[24] got.  
[25] Q: And based on your view in this case where was the

Page 22

[1] bar that rolled onto his foot located?  
[2] A: If I could look at his deposition — that is a  
[3] little cloudy to me but it would appear that it  
[4] came from the same level that he was either  
[5] standing on or from the adjacent rack that he was  
[6] reaching across.  
[7] Q: Is that the one you're referring to?  
[8] A: Yeah. That looks like it.  
[9] Q: That is Defendant's D from Mr. Reber's  
[10] deposition.  
[11] A: Okay. That's it.  
[12] Q: And I think he had himself where the X is?  
[13] A: That's correct.  
[14] Q: At least according to this drawing if his two  
[15] feet were on these two bars they would have been  
[16] at the same level?  
[17] A: The impression I got from his dep is that one  
[18] foot was up here or down here, ahead of the other  
[19] one, maybe not higher but ahead of the other.  
[20] Q: And he shows that he was in the rack on the right  
[21] of the diagram; correct?  
[22] A: That's correct.  
[23] Q: And that there was a pin in front of him,  
[24] correct, or not necessarily in front of him but  
[25] there was a pin —

Page 23

[1] A: Would have been at the end.  
[2] Q: — distinguishing, separating, the rack he was on  
[3] from the rack in front of him?  
[4] A: Yeah, and it would have been at the end of the  
[5] rack system.  
[6] Q: The end of the rack system. So this diagram  
[7] shows three racks basically?  
[8] A: Correct.  
[9] Q: And he was on the right. There was one in the  
[10] middle, and then the steel he was attempting to  
[11] lift was on the first rack; is that correct?  
[12] A: That's correct.  
[13] Q: What is your understanding as to where the piece  
[14] of steel was that rolled onto his foot was?  
[15] A: As I said, it wasn't clear. It could be either  
[16] one of these but this one in all likelihood would  
[17] have hit the pin.  
[18] Q: The one in the middle if it would have moved?  
[19] A: Correct.  
[20] Q: And that would have prevented it from hitting his  
[21] foot?  
[22] A: Correct, but the X here and I believe that's his  
[23] X, is that the same as what I have?  
[24] Q: I believe so.  
[25] A: That would have come down on him, it could have



Page 24

[1] come down on him just the same.

[2] Q: How could that have happened?

[3] A: It could have been a short piece. It was  
[4] ten-and-a-half inches diameter, and I think they  
[5] said it weighed three-and-a-half ton, and if it  
[6] wasn't within the confines of the pin because the  
[7] pin is very limited in terms of depth into the  
[8] rack itself and it could have rolled down.

[9] Q: This is one of the reasons why it's a little bit  
[10] cloudy as to how this happened?

[11] A: Correct.

[12] Q: You have some uncertainties I take it as to how  
[13] this accident actually happened?

[14] A: Well, no, I have some uncertainties as to exactly  
[15] which one of these pieces of round stock actually  
[16] caused the injury from his perspective. I don't  
[17] think he was that — that he noticed that well.  
[18] It's not documented in his dep that well.

[19] Q: Alright. And are you able to formulate an  
[20] opinion as to where the piece of stock was that  
[21] rolled on his foot?

[22] A: No, not with any degree of certainty.

[23] Q: Alright. And are you able to formulate an  
[24] opinion with any degree of certainty as to what  
[25] caused that bar to roll onto his foot?

Page 25

[1] A: No.

[2] Q: Now, let's talk about this racking system with  
[3] the pin rack. Have you seen this type of rack at  
[4] other facilities that you have inspected?

[5] A: Not with the weights that we have here. I have  
[6] seen it with thin wall conduit. I have seen it  
[7] with one- to two-inch bar stock, but nothing of  
[8] the magnitude of these pieces of stock.

[9] Q: Do you have an opinion to a reasonable degree of  
[10] certainty as to what would have prevented this  
[11] accident from happening?

[12] A: There's a couple things. There could have been  
[13] better cribbing, interlocking, so that the round  
[14] stock doesn't slide or collapse. There could  
[15] have been aisles positioned in between all the  
[16] steel separating the various sizes. There could  
[17] have been written procedures and policies  
[18] prohibiting employees from standing on the round  
[19] stock. Actually, there is a two-part hazard  
[20] associated with that. That's uneven work  
[21] surfaces as well as the chance that because of  
[22] the cribbing methodology that these materials  
[23] could shift.

[24] There could have been a written procedure, if  
[25] I haven't already said that, that would require

Page 26

[1] the employer to actually inspect the work site  
[2] and determine whether the employees were  
[3] following those written procedures. Lastly, I  
[4] would have limited the height of the round stock  
[5] to one layer, one level, with the type of pins  
[6] that I saw when I made my visit which were rather  
[7] short pins.

[8] There would have had to have been some  
[9] engineering done but that would determine what  
[10] the strength of those pins were and the condition  
[11] of the pins that I saw when I was there indicated  
[12] to me that they were under quite a bit of strain  
[13] or had been anyway.

[14] Q: I want to go through some of those with you. You  
[15] said better cribbing. What do you mean by  
[16] cribbing?

[17] A: Cribbing is what you stick in between the stock  
[18] to enable you to do several things. Number one  
[19] is maintain a symmetrical storage so that the  
[20] stock isn't leaning, and, number two and  
[21] primarily is to get your lifting attachments  
[22] underneath the stock so that you can make a pick.

[23] Q: Are you referring to the wood blocks that were  
[24] used?

[25] A: That's cribbing. That's correct.

Page 27

[1] Q: And Lovejoy was using wood four by fours; is that  
[2] correct?

[3] A: That's what's commonly used in this industry,  
[4] correct.

[5] Q: And is that what you see in these photograph?

[6] A: Yes.

[7] Q: And is that what you saw when you were at the  
[8] plant?

[9] A: Yes.

[10] Q: So you said that a better cribbing could have  
[11] been used. What would have been better?

[12] A: They could have used the four by fours, but if  
[13] you look at some of the photographs and then some  
[14] of the items that I saw when I was down there was  
[15] that the photographs show that the cribbing was  
[16] at various angles, and it essentially in my view  
[17] prevented the stock from being interlocked so  
[18] that it wouldn't slide or collapse.

[19] And the photographs that were taken, I'm not  
[20] sure when these were, obviously subsequent to  
[21] this accident, it shows that that condition still  
[22] exists; the angles of the cribbing itself were  
[23] not square. Bear with me here for a second.

[24] On photograph 1N — I'm sorry, yeah, 1N, the  
[25] way this is stored is in itself a safety

Page 28

[1] violation as far as I can tell which supports my  
[2] opinion regarding 29 CFR 1910.176, and that's  
[3] that the materials are stored in a manner that  
[4] they're leaning and there is such a tremendous  
[5] amount of weight on these pins. In fact N1 shows  
[6] a significant amount of bending on some of the  
[7] pins. Now, these are a higher pins than what I  
[8] observed when I was in the facility.

[9] Q: How high would you say these pins are?

[10] A: They look like they're anywhere from 36 to 42  
[11] inches high, and I'm basing that on one of the  
[12] pieces of round stock is actually marked  
[13] eight-and-a-quarter-inch diameter, and if you  
[14] multiple that that works out to be about 32  
[15] inches high.

[16] Q: Okay. Now, in looking at one end for instance in  
[17] this crib where we have an angle to the wood  
[18] block, that's because the steel that is  
[19] underneath the wood block is a different  
[20] diameter; correct?

[21] A: One of the pieces, yeah, is larger. It's  
[22] eight-and-a-half and the other ones I can't make  
[23] out what they are.

[24] Q: So as long as you have different diameter stock  
[25] then blocks resting on top of them there is going

Page 29

[1] to be this risk of having the blocking at an  
[2] angle?

[3] A: Well, it is, for me, my opinion is that you  
[4] shouldn't store over — or one size with smaller  
[5] sizes to create that condition. One of the  
[6] things you do when you do a technical safety  
[7] audit is you look at the symmetry of the storage  
[8] whether it be rack storage like this or whether  
[9] it be coil steel or cardboard boxes. If it's  
[10] leaning and shifting towards one side as all of  
[11] these are it would make me curious as to whether  
[12] or not it's adequately supported.

[13] Q: Is it your understanding that Lovejoy dealt with  
[14] all sorts of different size diameter stock?

[15] A: That's correct.

[16] Q: Do you think it would have been feasible for  
[17] Lovejoy to have stored only stock of the same  
[18] size together, same size diameter?

[19] A: Well, I see all kinds of eight-and-a-half,  
[20] eight-and-a-quarter, close sizes, different  
[21] heats, different strengths of steel, I see that.  
[22] That is probably part of the reason they didn't  
[23] do it, but I think it would be prudent if you  
[24] were going to pass muster on safe storage to try  
[25] to store all of the same size, and then part of

Page 30

[1] the problem as I see it in storing different  
[2] sizes, if you look at 1N, in the area that you  
[3] pointed out, the area right here, if I wanted to  
[4] get that piece of stock that's painted yellow out  
[5] of that rack and the entire cribbing methodology  
[6] is allowing these three potentially larger pieces  
[7] to roll down, I'm not really sure how you would  
[8] get that out safely if I wanted that one to the  
[9] right. You would have to take all three of these  
[10] other pieces out to do it safely because they are  
[11] going to roll right into —

[12] Q: You're talking about the ones on top of the  
[13] blocking?

[14] A: That's correct.

[15] Q: Looks like there are four pieces on top of the  
[16] blocking?

[17] A: There are four.

[18] Q: You would have to take all four of them out to  
[19] get to the ones below?

[20] A: Yeah, obviously, but if I wanted to get one of  
[21] the ones out of the top which is the one to the  
[22] far right, and it's spray painted yellow, I would  
[23] have to take these three out at least to prevent  
[24] them from rolling down and crushing my lifting  
[25] attachment or make a separation of the chain or

Page 31

[1] since — the webbing device I was using, so that  
[2] is something I would bring up as a safety person  
[3] that the storage methodology isn't correct. It  
[4] may be convenient but it's not correct.

[5] Q: Are there any written standards that you're aware  
[6] of that dictate the methodology for storing this  
[7] type of steel?

[8] A: Just 1910 — not for this type of specific steel  
[9] but a catch-all for all materials in storage.

[10] There are standards associated with coil steel  
[11] but I'm not aware of, personally aware of,  
[12] anything that deals with round stock.

[13] Q: Just 1910 —

[14] A: — 176, correct.

[15] Q: Is there anything in 1910 that prohibits use of  
[16] these types of pin racks?

[17] A: Just the catch-all phrase that it shall be  
[18] locked, blocked, and interlocked in such a manner  
[19] to prevent sliding and collapse. That's a pretty  
[20] broad interpretation of that standard.

[21] Q: So that standard is a broad general standard;  
[22] correct?

[23] A: Dealing with storage of materials, correct. It's  
[24] the only one that — other than the crane  
[25] standard itself it talks about positioning people

Page 32

[1] making lifts and things like that.  
[2] Q: Now, is there any evidence that any of the steel  
[3] or that the steel that went onto Mr. Reber's  
[4] foot had collapsed?  
[5] A: Not enough to convince me that that's what  
[6] happened. Not that it couldn't but I wasn't  
[7] convinced.  
[8] Q: That there was a collapse?  
[9] A: Correct.  
[10] Q: Is it your opinion that something Mr. Reber was  
[11] doing when he was lowering the load caused the  
[12] piece of steel to move onto his foot?  
[13] A: It could have been that. I don't believe that  
[14] Mr. Reber's foot could have moved a  
[15] three-and-a-half ton of bar stock. It had to be  
[16] it was either on the precipice of a failure or  
[17] bumped by something heavier.  
[18] Q: Such as when he was lowering the load. That  
[19] would be the only thing that was moving at the  
[20] time; correct?  
[21] A: It could have been. That could have been it. I  
[22] didn't try to make a causal analysis as to what  
[23] actually precipitated the event.  
[24] Q: Now, Lovejoy did have some written safety  
[25] procedures; correct?

Page 33

[1] A: I saw a safety manual.  
[2] Q: Have you, over the course of your career, have  
[3] you inspected some steel service plants that  
[4] didn't have any kind of written safety materials?  
[5] A: Yep, many. That's why they hire me. Can I take  
[6] a break?  
[7] MR. SIGMIER: Sure.  
[8]  
[9] (Off the record.)  
[10]  
[11] MR. SIGMIER: Back on the record.  
[12] Q: We were trying to go through the things that you  
[13] said could have been done differently to prevent  
[14] this accident. We've covered cribbing; correct?  
[15] A: That's correct.  
[16] Q: You talked about interlocking as well. What type  
[17] of interlocking did you have in mind?  
[18] A: The only interlocking that I would be aware of is  
[19] a device that I have seen used that is shaped  
[20] like this.  
[21] Q: Shaped like a V?  
[22] A: Shaped like a V that fits down between with a  
[23] wire piece at the bottom so it would end up  
[24] looking like that to keep the actual parts away  
[25] from each other, so it should do two things; it

Page 34

[1] levels the storage as well as allows you to get  
[2] your sling and lifting attachments in but it  
[3] limits you as to how much you can put on top of  
[4] other pieces of round stock, so, in other words,  
[5] you may have eight pieces of round stock sitting  
[6] on four of these things that fit in between the  
[7] subsequent lower pieces. It does a couple of  
[8] things. It keeps it relatively level as well  
[9] as — now whether that was a design that was —  
[10] this is in the nuclear industry, so it may have  
[11] been a design unique to that industry but it's  
[12] one that I would recommend if I observed a  
[13] condition like this to assist a client.  
[14] Q: Would you be able to draw me a diagram of what  
[15] you have in mind?  
[16] A: It would be pretty rough but I'll draw it for  
[17] you. The round stock will fit right in here.  
[18] That would be about it, six for two, so six — it  
[19] would limit you to how much you could store and  
[20] what it does is it stabilizes these two on the  
[21] bottom, and if this is the floor area, and then  
[22] contains the two on top. I'm not sure if there  
[23] is a circumference differential that would affect  
[24] that but the ones I saw were all pretty much the  
[25] same size.

Page 35

[1] Q: And you saw these at a nuclear facility?  
[2] A: Nuclear Weapons Sundry Facilities.  
[3] Q: For storage of other kind of material? Were they  
[4] weapons?  
[5] A: I can't tell you. That is one thing I forgot.  
[6] Q: Where was the nuclear weapons facility? Can you  
[7] tell me that?  
[8] A: No. One of 18 labs, probably Pantek. They use a  
[9] lot of exotic materials. For example, at the  
[10] FERMI labs in Illinois, they, in their machine  
[11] shops they use all kinds of exotic materials and  
[12] various dimensions because they are always  
[13] fooling around with different experiments and  
[14] that's what made me suspect, as I originally told  
[15] you, that could be something unique that some  
[16] high-priced engineer probably designed it for  
[17] them. Have I seen this in the private sector,  
[18] no.  
[19]  
[20] (Thereupon, Defendant's Exhibit A  
[21] was marked for purposes of identification.)  
[22]  
[23] A: There is a width dimension here also or a  
[24] thickness dimension. If I recall they were  
[25] anywhere from three to four inches and they would

Page 36

[1] be cut from any particular hard wood or they  
[2] could even be cut from metal but they were  
[3] essentially spacers. Is it a good idea, I think  
[4] it is.

[5] Q: We have marked your drawing as Defendant's A.  
[6] Then you also talked about spacing. What did you  
[7] have in mind when you were talking about spacing?

[8] A: Spacing?

[9] Q: You said spacing could have been different to  
[10] have prevented the accident.

[11] A: Aisles between spaces is probably what I was  
[12] talking about, but also some way to put cribbing  
[13] in between and in this example here, on one end,  
[14] if you notice that there's four pieces of round  
[15] stock on the rack on the left. In fact there is  
[16] two pieces in one rack and this one, this  
[17] particular photograph in my view should have some  
[18] type of spacing in-between this particular round  
[19] stock so that you can get your lifting  
[20] attachments around it.

[21] If you notice there is nothing in the  
[22] photograph of the second layer of round stock as  
[23] it leans to the right. There's no way you could  
[24] get a lifting attachment in there on any of the  
[25] pieces that I can see, so you have to have a way

Page 37

[1] to provide a space in between the stock so you  
[2] can lift it up. I don't see how you could do  
[3] that the way this is racked.

[4] Q: So you're talking about some kind of vertical  
[5] spacer?

[6] A: I would have to be cribbing, again, some type of  
[7] cribbing, spacing device. As a matter of fact  
[8] I'm looking at one, two, three, four, five racks  
[9] and none of them have spacers between them to  
[10] accommodate a lifting attachment.

[11] Q: When you say a lifting attachment what are you  
[12] referring to?

[13] A: A sling, a chain, if you use a magnet and back to  
[14] that, say we're going to use a magnet to pull  
[15] this, if I wanted the piece of stock that's to  
[16] the extreme right I would probably end up pulling  
[17] at least one of the other pieces right along with  
[18] it to pull it up with a magnet, so the safest  
[19] thing would be to use a lifting attachment,  
[20] either a fabric sling or a chain sling and in  
[21] order to use those two devices you would have to  
[22] have a spacer in-between the stock and there is  
[23] no evidence that there is a spacer.

[24] Q: Do have you any opinion as to how Lovejoy went  
[25] about, referring to Plaintiff's 1, how it went

Page 38

[1] about getting stock from underneath the blocking  
[2] such as we see in that photograph?

[3] A: I thought that I read that they did have cribbing  
[4] in there and that they would go in-between the  
[5] cribbing, but this photograph doesn't exhibit  
[6] that.

[7] Q: Would you use a magnet to lift the round bar  
[8] stock or would that just be for the flat-placed  
[9] stock?

[10] A: I think there are magnets powerful enough to lift  
[11] that kind of stock but I don't know the weights  
[12] of these metals. It would have to be within the  
[13] capacity of the magnet itself. It it's not  
[14] likely you would use a magnet, but just in case  
[15] somebody did I mean you would have to have some  
[16] separation by using spacers.

[17] Q: Would use of a larger piece of cribbing between  
[18] the piece that rolled on his foot and whatever  
[19] the next piece was that it was resting on have  
[20] necessarily prevented the accident?

[21] A: I'm trying to envision what it would do. Not  
[22] unless the cribbing was level. No, I don't think  
[23] that would have helped.

[24] Q: Would you say that the risk of being injured  
[25] while moving this type of stock is inherent in

Page 39

[1] this kind of operation that Lovejoy had?

[2] A: No, I don't think so. I guess by inherent you  
[3] mean can we assume that that's going to happen.  
[4] I don't think so, not if it's done properly.

[5] Q: So do you believe that there are ways to  
[6] completely eliminate the risk of this type of an  
[7] injury from happening?

[8] A: Well, in my business I have a number of clients  
[9] that work with this type of equipment that have  
[10] had no injuries.

[11] Q: How do you know they have had no injuries?

[12] A: I have monitored them for ten years, some of my  
[13] oldest clients.

[14] Q: Even bruised fingers?

[15] A: No. That happens. That happens.

[16] Q: And these clients that you have, you monitor them  
[17] for what purpose?

[18] A: For the long-term clients we monitor their  
[19] accident/illness records and if we see a  
[20] particular area that needs assessment we focus  
[21] our efforts on that particular area to find out  
[22] if they are following the procedures that were  
[23] developed in-house. We do quite an in-depth  
[24] investigation of the accidents especially if it  
[25] involves steel that were near misses as well as

Page 40

[1] minor injuries because the next thing that will  
[2] happen will be a catastrophic failure resulting  
[3] in death, so if it's your finger today it might  
[4] be your head tomorrow, and we have been pretty  
[5] successful doing that.

[6] Q: So your customers, long-term customers, that you  
[7] were describing provide you with ongoing accident  
[8] information?

[9] A: We do audits on a frequent basis. Generally we  
[10] wait for them to request it, but we try to get in  
[11] at least once a year, and those that have  
[12] sophisticated safety and health programs we know  
[13] that they follow those programs so they doesn't  
[14] need quite the attention of say someone that we  
[15] just started monitoring yesterday, so we're  
[16] comfortable with how they are doing things but we  
[17] ask as part of our markers we ask to evaluate  
[18] their injury/illness records to see where the  
[19] injuries are occurring, and they could be  
[20] anywhere, could be in production, could be in  
[21] storage, could be in vehicles, but we try to keep  
[22] a close eye on that.

[23] Q: Have you reviewed Lovejoy's accident information  
[24] in this case?

[25] A: I have.

Page 41

[1] Q: Did you notice that the number of accidents  
[2] decreased from 1998 to 1999?

[3] A: I don't know if I looked at that through those  
[4] eyes. I looked for total accidents that occurred  
[5] in steel handling, and they had a bad year in  
[6] '99. 2000 and 2001 were the same, two lost  
[7] workday cases, a total of ten cases moving steel  
[8] or related to steel.

[9] Q: What year was that?

[10] A: From '98 to 2001 there were a total of ten.  
[11] There were ten lost workday incident cases, two  
[12] in '98, four in '99, two in 2000, and two in  
[13] 2001.

[14] Q: Do you have the OSHA log there?

[15] A: Yeah, I do. Okay.

[16] Q: The 2001, do you have that? That should be the  
[17] top sheet.

[18] A: No. I have '98. It was signed in '98. It would  
[19] have been the 1997 calendar year so let me  
[20] correct that for the record. '98's signed  
[21] document is '97's record, '99's would be '98 and  
[22] 2000 would have been '99, and 2001 would have  
[23] been 2000. I went by the signature dates so what  
[24] I'm looking at on top is —

[25] Q: Which one is that?

Page 42

[1] A: For calendar year '97.

[2] Q: Okay.

[3] A: What do you want to know?

[4] Q: First of all, there are eight accidents in '97;  
[5] correct?

[6] A: That's correct.

[7] Q: And then going on to '98 —

[8] A: Okay.

[9] Q: — there were ten accidents; correct?

[10] A: Ten recordable, correct.

[11] Q: And in 1999 there were four accidents?

[12] A: Okay.

[13] Q: What do you attribute the decrease in accidents  
[14] to from 1998 to 1999?

[15] A: I don't know. What I did was I called out those  
[16] that — only those that involved time away from  
[17] work and only those that were involved with  
[18] working with steel: Moving, material handling.  
[19] I didn't look at the records in terms of whether  
[20] there were ten because some of these are pretty  
[21] minor and it wasn't of any interest to me.

[22] Q: In 1999 they had one accident that involved days  
[23] missed; is that correct?

[24] A: No, that's not correct. What you have to look at  
[25] is whether or not it was restricted work activity

Page 43

[1] because that is the same as days away from work.  
[2] I'll use the —

[3] Q: Are you looking at 1999?

[4] A: '98.

[5] Q: Look at '99.

[6] A: Are you going by the date up here?

[7] Q: Yes.

[8] A: Okay. In 1999 there were — there was one lost  
[9] workday incident and four total recordable cases,  
[10] and it's improperly marked and I couldn't

[11] tell what — excuse me, Number 4, if you look on  
[12] 12/8, Mr. Moser the foreman he had fractures and  
[13] stitches to a finger, and if you look at column  
[14] two it shows, either that's a three or a two, I  
[15] can't make it out, or it may be a zero.

[16] Q: Okay.

[17] A: Then they didn't carry the lost workday cases  
[18] down so if you go to the very last page, it's the  
[19] total page, so I could calculate just for that  
[20] year, that is the only year where I saw that was  
[21] missing, and I can assume that's because the  
[22] working foreman filled it out, not the original  
[23] person that normally kept the records, so it's a  
[24] bad record for '99, but it shows a fracture of  
[25] the right metatarsal, no lost workdays, no

[1] restricted activity. I question that particular  
[2] record, and not that it's a big thing in this  
[3] case to me, but the records if they are not  
[4] accurate all the way through then I tend to give  
[5] it less credibility. I don't know if that  
[6] answers your question.

[7] Q: You're having trouble — you think that a  
[8] fracture and break of a right metatarsal  
[9] necessarily would have caused days off?

[10] A: Well, I broke my metatarsal and I remember I was  
[11] off for a long time. It was quite painful. That  
[12] doesn't mean the guy didn't have any problems or  
[13] had problems but —

[14] Q: You broke a toe?

[15] A: Is that what he did?

[16] Q: I mean isn't that what a metatarsal is?

[17] A: No, metatarsal to me is the bones that are  
[18] between the toe and the ankle.

[19] Q: Did you have any kind of cast on yours when you  
[20] had it broke?

[21] A: Yeah. But other than that '99 wasn't that  
[22] significant of a year to me.

[23] Q: Then in the year 2000 they of course had  
[24] Mr. Reber's accident?

[25] A: Correct.

[1] Q: And they only had one other accident involved  
[2] days missed, am I reading this correctly?

[3] A: That's correct. Hold on a second. Yes, that's  
[4] correct.

[5] Q: Then in the year 2001 they did not have any  
[6] accidents that resulted in days missed?

[7] A: That's correct.

[8] Q: So would you're seeing an improved safety record  
[9] here from '97 through the year 2001?

[10] A: Yeah. I think one could make that assessment  
[11] provided everything was equal in terms of man  
[12] hours worked and when you look at it like that  
[13] you have to do some evaluation whether or not the  
[14] hours are the same, but it shows improvement, but  
[15] records alone for me aren't the only thing but —

[16] Q: Are you formulating an opinion as to what the  
[17] reason for the improvement was?

[18] A: No.

[19] Q: Generally do employees in the workplace have any

[20] [REDACTED] ability to follow the rules  
[21] that their employers put in place.

[23] Q: You mention in your letter that two other  
[24] accidents in particular, accident reports dated  
[25] July 3, 1997 and March 3, 1998. Do you have

[1] those accident reports handy?

[2] A: Yes, I do.

[3] Q: Looking at the July 3rd, '97, accident, is that  
[4] the one involving Robert Pearson?

[5] A: Yes.

[6] Q: And it indicates that he was putting three bars  
[7] on the saw. What is your understanding of what  
[8] happened in this accident?

[9] A: He was transporting the bars using an overhead  
[10] crane, using a chain lifting attachment device,  
[11] and he claims that the chain slipped causing the  
[12] bars to roll, caught his left hand in-between the  
[13] bars. That's all I know.

[14] Q: Did this accident involve blocking or cribbing?

[15] A: No, not that I'm aware of.

[16] Q: The accident happened, sounds like the accident  
[17] happened while the steel was in the chains;  
[18] correct?

[19] A: Correct.

[20] Q: So in that respect it is not a similar accident  
[21] to Mr. Reber's accident?

[22] A: Well, I looked at it from just material handling  
[23] only. Material handling is storage, transporting  
[24] and placement. That's how I looked at it.

[25] Q: You refer to a March 3, 1998, accident in your

[1] letter. I think you may have gotten the date  
[2] wrong because I didn't see an accident dated  
[3] March 3, 1998?

[4] A: Probably 3/19/98, same guy, Pearson.

[5] Q: Did you find that accident to be similar to  
[6] Mr. Reber's accident?

[7] A: With the information I had available it was his  
[8] left hand was smashed between two steel bars.  
[9] Nothing else supporting what really occurred.

[10] Q: So in your opinion were any of these accident  
[11] reports that you looked at similar to Mr. Reber's  
[12] accident?

[13] A: The only similarity was the movement of the bars  
[14] and that it was material handling.

[15] Q: But none of them appears to be similar in the  
[16] respect of an employee standing on a load of  
[17] steel and a bar shifting or moving?

[18] A: I could not make that determination.

[19] Q: Did you look for that kind of similarity in these  
[20] descriptions?

[21] A: I did.

[22] Q: And you did not see anything like that; is that  
[23] correct?

[24] A: Correct. There was no further investigation.

[25] Q: In your report you talk about multiple

Page 48

[1] four-colored photo reproductions of the accident  
[2] area. Is that the photographs that we have been  
[3] looking at?  
[4] A: That's correct.  
[5] Q: I wondered why you called them four-color photos.  
[6] Is that just because there are four colors shown  
[7] in the prints?  
[8] A: That is what my printer has. It's called a  
[9] four-color printer and it makes all the colors.  
[10] Q: Those photographs were provided to you by  
[11] Mr. Grieco's office?  
[12] A: That's correct.  
[13] Q: Alright. Moving on with your report, under Facts  
[14] in Brief, on page three, do you have that?  
[15] A: Go ahead. Yep.  
[16] Q: You say that a piece of steel stock became  
[17] dislodged. I think you've already indicated you  
[18] have no opinion to a reasonable degree of  
[19] certainty as to what caused the steel to become  
[20] dislodged; is that correct?  
[21] A: That's correct.  
[22] Q: And under your opinions I think you have  
[23] explained to me that you think this type of  
[24] steel, these large bars were too large to be  
[25] stored in the pin racks; is that correct?

Page 49

[1] A: Can you say that again?  
[2] Q: It was a bad question. Let's talk about your  
[3] opinion number one. You say that the storage  
[4] racks used to store various sizes of the steel  
[5] round stock were not appropriate. In which sense  
[6] were they not appropriate?  
[7] A: The limited strength of the vertical pins and  
[8] that was based upon my observations, when I  
[9] visited the facility, of the damage that was  
[10] already to the pins and the photographic pictures  
[11] of those pins. Additionally, I'm not sure that  
[12] those pins are engineered to support the kind of  
[13] weights we're talking about when you're talking  
[14] about more than one piece of round stock.  
[15] Q: There's no evidence in this case that a pin  
[16] failed causing the accident, is there?  
[17] A: No.  
[18] Q: That's correct?  
[19] A: That's correct. My position on it was if I  
[20] looked at the damage of the pins there was only  
[21] one way that — well, it isn't the only way but  
[22] it would be obvious to me that the stock itself  
[23] was causing the damage to the pins.  
[24] Q: Opinion number two, the design of the racks  
[25] forced employees to stand on the steel. That is

Page 5

[1] what Mr. Reber was doing at the time of the  
[2] accident; correct?  
[3] A: That's correct.  
[4] Q: But he was also in the process of lowering the  
[5] stock that he was trying to position; correct?  
[6] A: That would appear to be correct.  
[7] Q: And did you see in his deposition that he  
[8] admitted that if he would have moved out into the  
[9] Ashley it would have been a safer way to perform  
[10] this operation?  
[11] A: That's correct.  
[12] Q: Do you agree with that?  
[13] A: That's correct.  
[14] Q: Had you seen the depositions of two of the other  
[15] employees, Mr. Coulter and Mr. Pearson, moved  
[16] into the Ashley when they were raising and  
[17] lowering stock?  
[18] A: Yes.  
[19] Q: So you agree that is a safer way of performing  
[20] the operation?  
[21] A: No doubt.  
[22] Q: And opinion number three, Lovejoy failed to  
[23] establish safe working procedures for cribbing.  
[24] What do you mean by that "for cribbing"?  
[25] A: Well, cribbing is the separation, the spacers

Page 51

[1] that go between the pieces of steel. That is a  
[2] form of cribbing. That's as far as the cribbing.  
[3] Your question only relates to the cribbing?  
[4] Q: Right.  
[5] A: What about the second half of the opinion?  
[6] Q: Well, can you tell me what was —  
[7] A: Oh, I'm sorry.  
[8] Q: Let me ask it a different way. Can you elaborate  
[9] on number three in your report?  
[10] A: Yes. What I meant by failing to provide safe  
[11] working procedures for cribbing is that allowing  
[12] multiple sizes of material to be stored in the  
[13] same bin area, no matter what cribbing one uses  
[14] unless you had multiple sizes of cribbing I don't  
[15] think you could ever get the steel where it was  
[16] stored symmetrically where it wouldn't roll if  
[17] you picked out a piece. The second half of that,  
[18] securing lifting attachments to steel stock, that  
[19] is part of the cribbing methodology. There is no  
[20] choice for any of these employees other than to  
[21] go stand on the steel which is not a safe thing  
[22] to do anyway. The reason there was no choice  
[23] other than to climb up onto the steel itself no  
[24] matter if they were going to the right or to the  
[25] left to pick up from another rack is that all the

Page 52

[1] steel was stored together with no aiseways  
[2] in-between, and that creates not only a potential  
[3] for misjudgment of something that's not cribbed  
[4] properly but it also makes for an uneven work  
[5] surface.

[6] It's a falling issue or an ankle sprain issue  
[7] not related to what — especially to what  
[8] occurred here, but had they had a procedure as  
[9] you said, two people were in the aisle making a  
[10] lift, one of them wasn't. Mr. Reber wasn't. Had  
[11] they trained their employees that all employees  
[12] will do it from the aisle then Mr. Reber who is  
[13] described as a very good worker probably would  
[14] have followed that advice. And, boy, was that a  
[15] long answer to that simple question.

[16] Q: Have you seen other operations like this? You  
[17] have already established that you have been in  
[18] many plants like this and have seen how they  
[19] stored steel in racks; correct?

[20] A: Correct.

[21] Q: Have you seen other plants where the racks were  
[22] configured like this where they were up against  
[23] one another?

[24] A: I've got to tell you, no, I haven't, not with the  
[25] sizes we're talking about here, smaller sizes.

Page 53

[1] Q: Whenever you've seen the racks there have been  
[2] aiseways between the racks?

[3] A: Generally speaking, yeah, or the rack will be  
[4] against the wall so they have access from the  
[5] front side along the entire length of the wall.  
[6] I see that in pipe storage also, heavy pipe  
[7] storage, i.e., the oil well industry, they will  
[8] store extremely thick-walled pipe that way where  
[9] they have to have aisles in-between, but you're  
[10] not talking about the weights that we're talking  
[11] about here.

[12] Q: We have already covered 29 CFR 1910.176?

[13] A: Correct.

[14] Q: Is there any evidence in this case that the stock  
[15] slid causing Mr. Reber's accident?

[16] A: No.

[17] Q: Now, let's move to five, opinion five, under C6,  
[18] slings shall be securely attached to their loads.  
[19] Is that an issue in this case? The slings didn't  
[20] have anything to do with this accident, did they?

[21] A: Could have if they would have placed the slings  
[22] where it wasn't at the center, actual center of  
[23] the load. I think one could make an argument  
[24] that not having all the loads marked in the  
[25] middle I consider that to be a secure issue

Page 54

[1] whether or not it's going to slide out of the  
[2] sling.

[3] Q: Is there any evidence that the — first of all,  
[4] Mr. Reber was lowering a load that was in a  
[5] sling; correct?

[6] A: Correct.

[7] Q: The sling being the chains that come down from  
[8] the crane; correct?

[9] A: Correct.

[10] Q: Is there any evidence that the load was insecure  
[11] in the sling?

[12] A: Well, chains, I don't consider chains to be a  
[13] good lifting attachment for steel stock. Web  
[14] slings I prefer because you have a little bit of  
[15] drag coefficient, a little stickiness. Chains  
[16] are used but I always recommend using some type  
[17] of fabric sling.

[18] Q: Is there a fabric that can be used for this  
[19] weight of steel?

[20] A: I'm sure there are. I have lifted heavier loads  
[21] with fabric type slings, nylon fabric slings.

[22] Q: Are there any risks presented by the use of  
[23] fabric?

[24] A: Only if they get tore.

[25] Q: But in terms of trying to determine what caused

Page 55

[1] Mr. Reber's accident we know from his deposition  
[2] that he didn't the load centered properly and he  
[3] was lowering it, correct, he was going to  
[4] reposition the chains?

[5] A: That sounds right.

[6] Q: But other than being on level there is no  
[7] evidence that the load was not secure within the  
[8] chains, is there?

[9] A: If it's not — to me, this is my opinion, if it's  
[10] not determined to be in the center when you make  
[11] the pick it's got to be lowered back down so you  
[12] can move it to center. I have had fatalities  
[13] where that's occurred and it slipped out of the  
[14] sling that way, the load shifted.

[15] Q: But that's not what happened to Mr. Reber?

[16] A: I — we don't know. I don't know what caused  
[17] that dislodgment.

[18] Q: Well, we know the piece of metal that rolled on  
[19] his foot was not one of the pieces that was in  
[20] the sling; correct?

[21] A: That's correct, but I don't know if in the  
[22] process of lowering it or raising it or however  
[23] he was doing it whether or not it had anything to  
[24] do with this business of being off center. I  
[25] don't know that.



Page 56

[1] Q: Alright. So you don't have an opinion to a  
[2] reasonable degree of certainty that there was  
[3] something insecure about the way in which the  
[4] load was in the sling that contributed to cause  
[5] the accident; is that a fair statement?  
[6] A: That's correct.  
[7] Q: Then under C8, suspended load shall be kept clear  
[8] of all obstructions. Was there an obstruction at  
[9] issue in this case?  
[10] A: Potentially.  
[11] Q: What would that be?  
[12] A: That could be the height of the pins, it could be  
[13] anything that would cause the load to be moved  
[14] while it's in the slings.  
[15] Q: There's no evidence that the load was moving  
[16] while it was in the slings, is there?  
[17] A: Other than what we discussed previously of it  
[18] being level or off center.  
[19] Q: Do you have an opinion to a reasonable degree of  
[20] certainty that there was any obstruction that  
[21] contributed to cause this accident?  
[22] A: Other than where the pins were themselves, no.  
[23] If you believe Mr. Reber and the height of the  
[24] pins that he was trying to get the materials out  
[25] of and he was reaching across another set

Page 57

[1] of — in the middle of the diagram that we looked  
[2] at, whether that constitutes an obstruction  
[3] depends on whether you're the person trying to  
[4] rustle these pieces of round stock from that far  
[5] left-side, and that's where an aisleway would  
[6] have facilitated this.  
[7] Q: C9, all employees should be kept clear of loads  
[8] about to be lifted and of suspended loads. Now,  
[9] the way for Mr. Reber to have been kept clear  
[10] would have been for him to have moved out to the  
[11] Ashley as he was lowering the load; is that  
[12] correct?  
[13] A: That I agree with, yes.  
[14] Q: So that was his responsibility?  
[15] A: That's correct.  
[16] Q: And next, hands or fingers shall not be placed  
[17] between the sling and its load while the sling is  
[18] being tightened around the load.  
[19] That is not an issue in this case, is it?  
[20] A: Not in the Reber case. It was an observation I  
[21] made from the previous accidents that occurred  
[22] since 1997.  
[23] Q: Number eight, you say Lovejoy's supervisors  
[24] failed to investigate serious accidents occurring  
[25] in and around the storage rack area and failed to

Page 51

[1] take corrective action to prevent accidents from  
[2] reoccurring. What is your basis for that  
[3] statement?  
[4] A: The ten accidents that occurred involving steel  
[5] handling in the previous years.  
[6] Q: You mean the mere fact that they had accidents  
[7] leads you to conclude that the company failed to  
[8] investigate those accidents?  
[9] A: Yeah. I saw nothing that would support an  
[10] investigation with abatement or corrective action  
[11] to prevent anything from reoccurring with those  
[12] accidents. That's generally what you should do  
[13] if you have an accident is stop it from recurring  
[14] by doing a faulty analysis perhaps or say, hey,  
[15] we're going to change our process. I didn't see  
[16] anything that that occurred.  
[17] Q: Perhaps we have gone over the basis for number  
[18] eleven already. You say the injury suffered by  
[19] Mr. Reber were easily preventable by using simple  
[20] and straightforward material handling and storage  
[21] techniques. What techniques should have been  
[22] used?  
[23] A: Limiting height, segregating the various sizes of  
[24] materials and putting aisles in to allow access  
[25] for hooking up lifting attachments.

Page 59

[1] Q: And the limiting height has to do with the fact  
[2] that there were different diameter bars that  
[3] caused them to be at angles at times; is that  
[4] correct?  
[5] A: That's correct.  
[6] Q: Then going on to the second last paragraph of  
[7] your report I thought we established earlier in  
[8] your deposition that you believe that whether an  
[9] accident is substantially certain to occur is a  
[10] legal conclusion, something for a judge or jury  
[11] to decide; correct?  
[12] A: That's correct.  
[13] Q: That's something that you don't feel qualified to  
[14] give an opinion on as an expert; is that right?  
[15] A: That's correct, whether or not it's substantially  
[16] certain.  
[17] Q: Okay. I think that is all I have, Mr. Hayes.  
[18] Thank you for your time.  
[19]  
[20]

RICHARD HAYES

CERTIFICATE

[1]

[2]

[3]

[4] The State of Ohio, ) SS:

County of Cuyahoga.)

[5]

[6]

I, Sandra L. Rice, a Notary Public within

[7] and for the State of Ohio, authorized to

administer oaths and to take and certify

[8] depositions, do hereby certify that the

above-named witness was by me, before the giving

[9] of their deposition, first duly sworn to testify

the truth, the whole truth, and nothing but the

[10] truth; that the deposition as above-set forth was

reduced to writing by me by means of stenotypy,

[11] and was later transcribed into typewriting under

my direction; that this is a true record of the

[12] testimony given by the witness; that said

deposition was taken at the aforementioned time,

[13] date and place, pursuant to notice or

stipulations of counsel; that I am not a relative

[14] or employee or attorney of any of the parties, or

a relative or employee of such attorney or

[15] financially interested in this action; that I am

not, nor is the court reporting firm with which I

[16] am affiliated, under a contract as defined in

Civil Rule 28(D).

[17]

IN WITNESS WHEREOF, I have hereunto set my

[18] hand and seal of office, at Cleveland, Ohio, this

\_\_\_\_ day of \_\_\_\_\_, A.D. 20\_\_\_\_.

[19]

[20]

[21] Sandra L. Rice, Notary Public, State of Ohio

1750 Midland Building, Cleveland, Ohio 44115

[22] My commission expires September 26, 2004

[23]

[24]

[25]

## Lawyer's Notes

---



**1**

1 37:25  
12/8 43:12  
176 31:14  
18 35:8  
1910 5:23; 31:8, 13, 15  
1910.176 28:2; 53:12  
1989 10:3  
1997 41:19; 45:25; 57:22  
1998 41:2; 42:14; 45:25;  
46:25; 47:3  
1999 41:2; 42:11, 14, 22;  
43:3, 8  
1A 20:2, 10, 13, 15, 19  
1C 20:4, 11, 14  
1N 27:24, 24; 30:2

**2**

2000 41:6, 12, 22, 23;  
44:23  
2001 41:6, 10, 13, 16, 22;  
45:5, 9  
2002 5:3, 11  
21st 5:3, 11  
29 5:23; 28:2; 53:12  
29-county 7:24

**3**

3 45:25, 25; 46:25; 47:3  
3/19/98 47:4  
30 9:20  
32 28:14  
36 28:10  
3rd 46:3

**4**

4 43:11  
42 28:10

**6**

60s 7:4

**7**

70s 7:5, 14  
78 7:14  
79 7:14

**9**

97 42:1, 4; 45:9; 46:3  
97's 41:21  
98 41:10, 12, 18, 18, 21;

42:7; 43:4  
98's 41:20  
99 41:6, 12, 22; 43:5, 24;  
44:21  
99's 41:21

**A**

abatement 58:10  
able 9:16; 15:18, 23;  
24:19, 23; 34:14  
access 53:4; 58:24  
accident 13:22; 14:2, 7;  
15:25; 16:11; 17:21;  
18:13; 21:5; 24:13; 25:11;  
27:21; 33:14; 36:10;  
38:20; 40:7, 23; 42:22;  
44:24; 45:1, 24; 46:1, 3, 8,  
14, 16, 16, 20, 21, 25;  
47:2, 5, 6, 10, 12; 48:1;  
49:16; 50:2; 53:15, 20;  
55:1; 56:5, 21; 58:13; 59:9  
accident/illness 39:19  
accidents 39:24; 41:1, 4;  
42:4, 9, 11, 13; 45:6, 24;  
57:21, 24; 58:1, 4, 6, 8, 12  
accommodate 37:10  
According 9:12; 19:12;  
22:14  
accurate 44:4  
across 22:6; 56:25  
action 58:1, 10  
activity 42:25; 44:1  
actual 9:23; 33:24; 53:22  
actually 8:14, 18; 19:21;  
24:13, 15; 25:19; 26:1;  
28:12; 32:23  
Additionally 7:8; 49:11  
adequacy 11:24  
adequate 12:11  
adequately 29:12  
adjacent 21:11; 22:5  
admitted 50:8  
advice 52:14  
affect 34:23  
again 37:6; 49:1  
against 52:22; 53:4  
age 4:1  
agree 50:12, 19; 57:13  
ahead 22:18, 19; 48:15  
aisle 52:9, 12  
aisles 25:15; 36:11; 53:9;  
58:24  
aisleway 57:5  
aisleways 52:1; 53:2  
allow 58:24  
allowing 30:6; 51:11  
allows 34:1  
alone 45:15  
along 37:17; 53:5  
Alright 18:6; 24:19, 23;  
48:13; 56:1  
alter 12:14  
always 35:12; 54:16  
amount 28:5, 6  
analysis 32:22; 58:14  
angle 28:17; 29:2  
angles 27:16, 22; 59:3  
ankle 44:18; 52:6  
appealed 13:7  
appear 22:3; 50:6  
appears 47:15  
applied 6:6  
appropriate 49:5, 6  
approximately 7:18  
area 7:24; 11:18; 16:10,  
12; 18:13; 19:9, 11; 30:2,  
3; 34:21; 39:20, 21; 48:2;  
51:13; 57:25  
argument 53:23  
around 35:13; 36:20;  
57:18, 25  
Ashley 50:9, 16; 57:11  
assessment 39:20;  
45:10  
assign 14:23  
assist 34:13  
associated 25:20; 31:10  
assume 39:3; 43:21  
attached 53:18  
attachment 30:25; 36:24;  
37:10, 11, 19; 46:10; 54:13  
attachments 26:21;  
34:2; 36:20; 51:18; 58:25  
attempting 18:16; 23:10  
attention 16:14, 15;  
40:14  
attorney 11:3; 14:17  
attorneys 11:2  
attribute 42:13  
audit 8:13; 15:16; 29:7  
audits 40:9  
authorities 6:8  
available 6:4; 47:7  
average 19:1  
aware 31:5, 11, 11; 33:18;  
46:15  
away 33:24; 42:16; 43:1

**B**

Back 33:11; 37:13; 55:11  
background 15:16  
bad 41:5; 43:24; 49:2  
bar 12:8; 22:1; 24:25;  
25:7; 32:15; 38:7; 47:17  
bars 22:15; 46:6, 9, 12,  
13; 47:8, 13; 48:24; 59:2  
Based 21:3, 8, 25; 49:8  
basically 23:7  
basing 28:11  
basis 21:21; 40:9; 58:2,  
17  
beam 20:14, 16, 17  
Bear 27:23

became 48:16  
become 48:19  
behalf 13:14  
below 30:19  
bending 28:6  
besides 4:23; 5:17  
best 21:23  
Bethlehem 12:4  
better 25:13; 26:15;  
27:10, 11  
big 44:2  
bin 51:13  
bit 24:9; 26:12; 54:14  
block 28:18  
blocked 31:18  
blocking 29:1; 30:13, 16;  
38:1; 46:14  
blocks 26:23; 28:25  
blood 28:19  
bones 44:17  
both 12:9; 16:12  
bottom 33:23; 34:21  
boxes 29:9  
boy 9:18; 52:14  
break 33:6; 44:8  
bridge 8:17  
Brief 48:14  
bring 4:15; 8:24; 31:2  
brittle 12:5  
broad 31:20, 21  
broke 44:10, 14, 20  
bruised 39:14  
bumped 32:17  
Bureau 7:12  
Burkhard 13:12  
business 39:8; 55:24

**C**

C6 53:17  
C8 56:7  
C9 57:7  
calculate 43:19  
calendar 41:19; 42:1  
call 16:2, 7  
called 4:2; 12:21; 20:14;  
42:15; 48:5, 8  
came 22:4  
can 8:24, 25; 14:17;  
17:22; 26:22; 28:1; 33:5;  
34:3; 35:6; 36:19, 25; 37:2;  
39:3; 43:21; 49:1; 51:6, 8;  
54:18; 55:12  
capable 11:25  
capacity 38:13  
cardboard 29:9  
career 9:18; 33:2  
carry 43:17  
case 4:11, 23; 5:16, 20;  
6:6, 11, 14; 9:7, 10, 12;  
12:21, 24, 25; 13:1, 9, 15,  
18, 21, 25; 14:12; 15:3;  
21:3, 25; 38:14; 40:24;  
44:3; 49:15; 53:14, 19;  
56:9; 57:19, 20  
cases 41:7, 7, 11; 43:9,  
17  
cast 44:19  
catastrophic 40:2  
catch-all 31:9, 17  
caught 46:12  
causal 32:22  
cause 12:13; 56:4, 13, 21  
caused 24:16, 25; 32:11;  
44:9; 48:19; 54:25; 55:16;  
59:3  
causing 46:11; 49:16, 23  
53:15  
center 53:22, 22; 55:10,  
12, 24; 56:18  
centered 55:2  
certain 13:23; 14:3, 7;  
59:9, 16  
certainly 11:25  
certainty 14:13, 22;  
24:22, 24; 25:10; 48:19;  
56:2, 20  
certification 7:10, 11  
certified 4:5  
CFR 5:23; 28:2; 53:12  
chain 30:25; 37:13, 20;  
46:10, 11  
chains 46:17; 54:7, 12,  
12, 15; 55:4, 8  
chance 25:21  
change 58:15  
check 15:16  
chest 18:22; 19:3, 10, 18  
choice 51:20, 22  
circumference 34:23  
Civil 4:4  
claims 46:11  
class 7:10  
clear 23:15; 56:7; 57:7, 9  
client 34:13  
clients 39:8, 13, 16, 18  
climb 51:23  
clip 20:14, 15, 21  
close 29:20; 40:22  
cloudy 22:3; 24:10  
coefficient 54:15  
coil 29:9; 31:10  
collapse 25:14; 27:18;  
31:19; 32:8  
collapsed 32:4  
colors 48:6, 9  
column 43:13  
comfortable 40:16  
coming 19:13, 18  
commercially 6:4  
commonly 27:3  
Company 6:22; 8:20;  
13:10; 58:7  
compare 10:8; 11:20, 23;  
20:4

completely 39:6  
conclude 58:7  
conclusion 13:23; 59:10  
concrete 16:5  
condition 26:10; 27:21;  
29:5; 34:13  
conducted 6:10  
conduit 25:6  
configured 52:22  
confines 24:6  
conflicted 19:20  
connection 5:19; 6:10,  
14  
consider 53:25; 54:12  
constitutes 57:2  
consultant 10:2  
consulted 5:19; 6:13  
consulting 13:14  
contains 34:22  
contributed 56:4, 21  
controlled 8:16, 16  
convenient 31:4  
convince 32:5  
convinced 32:7  
Cook 13:12  
corrective 58:1, 10  
correctly 45:2  
Coulter 50:15  
counties 8:2  
County 13:11  
couple 9:20; 21:6; 25:12;  
34:7  
course 33:2; 44:23  
court 9:7, 9, 10; 14:10  
covered 33:14; 53:12  
crane 6:19, 24; 7:1, 10,  
11; 8:7, 11; 31:24; 46:10;  
54:8  
cranes 7:8, 10; 8:8, 14,  
14; 9:3; 11:25  
create 29:5  
creates 52:2  
credibility 44:5  
crib 28:17  
cribbed 52:3  
cribbing 25:13, 22;  
26:15, 16, 17, 25; 27:10,  
15, 22; 30:5; 33:14; 36:12;  
37:6, 7; 38:3, 5, 17, 22;  
46:14; 50:23, 24, 25; 51:2,  
2, 3, 11, 13, 14, 19  
cross-examination 4:3,  
7  
crushing 30:24  
curious 29:11  
customers 40:6, 6  
cut 36:1, 2  
CV 8:23  
cylindrical 16:3

## D

D 22:9  
damage 49:9, 20, 23  
date 5:2, 2, 10; 43:6; 47:1  
dated 5:3; 45:24; 47:2  
dates 41:23  
days 42:22; 43:1; 44:9;  
45:2, 6  
dead 8:21  
dealing 9:2; 31:23  
deals 31:12  
dealt 29:13  
death 40:3  
decide 59:11  
decrease 42:13  
decreased 41:2  
Defendant 4:2; 13:14  
Defendant's 22:9; 35:20;  
36:5  
defense 11:3  
definition 14:13, 21  
degree 24:22, 24; 25:9;  
48:18; 56:2, 19  
dep 13:4, 7; 17:20; 22:17;  
24:18  
depends 57:3  
deposed 4:5, 12; 14:17  
deposition 4:18, 24;  
5:12; 13:1, 3, 17; 17:1, 18;  
18:21; 19:17; 20:1; 21:22;  
22:2, 10; 50:7; 55:1; 59:8  
depositions 50:14  
depth 24:7  
describe 15:23  
described 17:21; 19:17;  
52:13  
describing 40:7  
descriptions 47:20  
design 34:9, 11; 49:24  
designed 35:16  
determination 17:25;  
47:18  
determine 14:10; 15:5,  
18; 26:2, 9; 54:25  
determined 17:23; 55:10  
developed 39:23  
device 31:1; 33:19; 37:7;  
46:10  
devices 37:21  
diagram 19:12; 22:21;  
23:6; 34:14; 57:1  
diagrams 17:17  
diameter 16:4; 24:4;  
28:13, 20, 24; 29:14, 18;  
59:2  
dictate 31:6  
difference 12:1; 16:23  
different 6:3; 11:16; 12:6;  
15:23; 16:9; 17:3, 3, 9;  
20:6, 8, 10; 28:19, 24;  
29:14, 20, 21; 30:1; 35:13;

36:9; 51:8; 59:2  
differential 34:23  
differently 16:19, 21;  
33:13  
difficult 20:12  
dimension 35:23, 24  
dimensions 35:12  
directed 13:5, 6  
disallow 9:9  
discussed 5:16; 15:3;  
56:17  
dislodged 48:17, 20  
dislodgment 55:17  
distinguishing 23:2  
document 41:21  
documented 24:18  
documents 4:22; 5:7;  
15:11  
done 8:21; 26:9; 33:13;  
39:4  
doubt 50:21  
down 20:17; 22:18;  
23:25; 24:1, 8; 27:14; 30:7,  
24; 33:22; 43:18; 54:7;  
55:11  
drag 54:15  
Drainage 12:22  
draw 34:14, 16  
drawing 22:14; 36:5  
duly 4:4  
during 17:17; 18:11

## E

earlier 59:7  
early 7:4; 10:15  
easily 58:19  
efforts 39:21  
eight 17:19; 34:5; 42:4;  
57:23  
eight-and-a-half 28:22;  
29:19  
eight-and-a-quarter  
29:20  
eight-and-a-quarter-  
inch 28:13  
either 10:18; 14:9; 16:4;  
22:4; 23:15; 32:16; 37:20;  
43:14  
elaborate 51:8  
eleven 58:18  
eliminate 39:6  
else 5:24; 11:1; 47:9  
employee 47:16  
employees 12:16; 25:18;  
26:2; 45:19; 49:25; 50:15;  
51:20; 52:11, 11; 57:7  
employer 26:1  
employers 45:22  
enable 26:18  
end 8:17; 20:16; 23:1, 4,  
6; 28:16; 33:23; 36:13;  
37:16

engineer 35:16  
engineered 49:12  
engineering 26:9  
enough 32:5; 38:10  
entire 7:21; 30:5; 53:5  
envision 38:21  
equal 45:11  
equipment 6:23; 8:5;  
11:24; 39:9  
especially 39:24; 52:7  
essentially 27:16; 36:3  
establish 50:23  
established 52:17; 59:7  
estimate 9:16; 17:15  
evaluate 40:17  
evaluation 45:13  
even 36:2; 39:14  
event 32:23  
evidence 16:20; 32:2;  
37:23; 49:15; 53:14; 54:3,  
10; 55:7; 56:15  
exactly 10:7, 14; 24:14  
example 35:9; 36:13  
except 20:13  
excessive 19:14  
excuse 43:11  
Exhibit 35:20; 38:5  
Exhibits 20:1, 2  
exists 27:22  
exotic 35:9, 11  
experiments 6:10; 35:13  
expert 9:6; 59:14  
explained 48:23  
extreme 37:16  
extremely 53:8  
eye 40:22  
eyes 41:4

## F

fabric 37:20; 54:17, 18,  
21, 21, 23  
facilitated 57:6  
facilities 12:3; 25:4; 35:2  
facility 10:11; 11:20;  
28:8; 35:1, 6; 49:9  
fact 19:12; 28:5; 36:15;  
37:7; 58:6; 59:1  
Facts 48:13  
failed 9:6; 49:16; 50:22;  
57:24, 25; 58:7  
failing 51:10  
failure 32:16; 40:2  
fair 56:5  
falling 52:6  
far 19:17; 28:1; 30:22;  
51:2; 57:4  
fatalities 55:12  
faulty 58:14  
feasible 29:16  
feel 14:5; 59:13

feet 19:8; 21:8, 14; 22:15  
felt 17:8  
FERMI 35:10  
few 13:20  
Fewer 17:12  
file 4:15; 16:22; 19:25  
filed 13:6  
filled 43:22  
find 12:11; 15:22; 39:21;  
47:5  
finger 40:3; 43:13  
fingers 39:14; 57:16  
firm 11:3; 13:10  
first 4:4; 23:11; 42:4; 54:3  
fit 34:6, 17  
fits 33:22  
Five 7:19; 37:8; 53:17, 17  
flat 16:5  
flat-placed 38:8  
floor 34:21  
Florida 7:11  
focus 39:20  
focusing 16:14  
follow 40:13; 45:21  
followed 52:14  
following 26:3; 39:22  
follows 4:6  
fooling 35:13  
foot 19:8; 21:10, 10, 12,  
16, 18, 18, 20; 22:1, 18;  
23:14, 21; 24:21, 25; 32:4,  
12, 14; 38:18; 55:19  
forced 49:25  
foreman 43:12, 22  
forgot 35:5  
form 51:2  
formulate 24:19, 23  
formulating 45:16  
found 6:5  
four 27:1, 12; 30:15, 17,  
18; 34:6; 35:25; 36:14;  
37:8; 41:12; 42:11; 43:9;  
48:6  
four-color 48:5, 9  
four-colored 48:1  
fours 27:1, 12  
fracture 43:24; 44:8  
fractures 43:12  
frequent 40:9  
front 22:23, 24; 23:3; 53:5  
function 20:25  
functions 11:13  
further 47:24

## G

Gave 13:1  
general 31:21  
generally 8:17; 15:21;  
40:9; 45:19; 53:3; 58:12  
Gibson 12:22; 13:9, 25;

14:12  
giving 13:3  
good 10:21; 36:3; 52:13;  
54:13  
grade 12:4  
Grieco 5:17; 10:25  
Grieco's 48:11  
guess 19:19; 39:2  
guy 44:12; 47:4

## H

H-beam 16:6, 7, 16; 17:10  
half 19:8; 51:5, 17  
halfway 20:17  
hand 46:12; 47:8  
handler 6:16  
handlers 9:3  
handling 41:5; 42:18;  
46:22, 23; 47:14; 58:5, 20  
hands 57:16  
handy 46:1  
happen 14:24; 39:3; 40:2  
happened 24:2, 10, 13;  
32:6; 46:8, 16, 17; 55:15  
happening 25:11; 39:7  
happens 39:15, 15  
hard 12:5; 36:1  
Harry 4:9  
HAYES 4:1, 7, 9; 59:17,  
20  
hazard 25:19  
head 40:4  
health 40:12  
heats 29:21  
heavier 32:17; 54:20  
heavy 53:6  
height 16:24; 17:22, 23;  
18:4, 8; 19:16, 20; 26:4;  
56:12, 23; 58:23; 59:1  
hello 12:18  
help 14:18  
helped 38:23  
hereinafter 4:5  
hey 58:14  
high 18:20, 22, 22, 25;  
19:7, 13, 22; 28:9, 11, 15  
high-priced 35:16  
higher 17:19; 18:3, 7;  
21:16, 17, 18, 19, 20;  
22:19; 28:7  
himself 22:12  
hire 33:5  
hired 7:22  
hit 23:17  
hitting 23:20  
Hold 45:3  
hole 16:4  
hook 8:21  
hooking 58:25  
hour 11:9

hours 45:12, 14  
hundred 9:20  
hydraulic 7:9

## I

I-beam 16:6, 13, 15;  
17:10  
I-beams 16:18; 20:23  
i.e 53:7  
idea 36:3  
identification 35:21  
Illinois 35:10  
important 18:1  
impression 21:23; 22:17  
improperly 43:10  
improved 45:8  
improvement 45:14, 17  
in-between 36:18; 37:22;  
38:4; 46:12; 52:2; 53:9  
in-depth 39:23  
in-house 39:23  
Inc 12:22  
inches 16:3; 24:4; 28:11,  
15; 35:25  
incident 41:11; 43:9  
including 12:2  
inconsistency 19:15, 19  
independent 15:13  
independently 15:19  
indicated 26:11; 48:17  
indicates 46:6  
industry 27:3; 34:10, 11;  
53:7  
information 40:8, 23;  
47:7  
inherent 38:25; 39:2  
injured 38:24  
injuries 39:10, 11; 40:1,  
19  
injury 24:16; 39:7; 58:18  
injury/illness 40:18  
insecure 54:10; 56:3  
inside 17:9, 10, 12  
inspect 8:14; 10:10, 22;  
26:1  
inspected 9:13, 17; 10:5,  
20, 24; 11:22; 25:4; 33:3  
inspection 8:15; 11:11;  
12:13  
inspections 9:21  
installations 8:3  
instance 28:16  
interest 42:21  
interlocked 27:17; 31:18  
interlocking 25:13;  
33:16, 17, 18  
internal 6:24  
Internet 5:25; 6:5; 15:20  
interpretation 31:20  
into 16:4, 4, 5; 24:7;  
30:11; 50:8, 16

investigate 57:24; 58:8  
investigation 39:24;  
47:24; 58:10  
involve 46:14  
involved 18:13; 42:16,  
17, 22; 45:1  
involves 39:25  
involving 46:4; 58:4  
issue 13:22; 52:6, 6;  
53:19, 25; 56:9; 57:19  
items 27:14

## J

job 6:18  
joint 20:14, 15  
joints 20:21  
judge 59:10  
July 45:25; 46:3  
jury 14:10, 11; 59:10

## K

keep 33:24; 40:21  
keeps 34:8  
kept 43:23; 56:7; 57:7, 9  
kind 15:5, 13; 33:4; 35:3;  
37:4; 38:11; 39:1; 44:19;  
47:19; 49:12  
kinds 29:19; 35:11

## L

labs 35:8, 10  
large 7:1; 48:24, 24  
larger 28:21; 30:6; 38:17  
last 10:16; 43:18; 59:6  
Lastly 26:3  
late 7:4, 14  
latter 10:15  
law 13:10  
lawful 4:1  
layer 26:5; 36:22  
layperson 14:23  
leads 58:7  
leaning 26:20; 28:4;  
29:10  
leans 36:23  
least 22:14; 30:23; 37:17;  
40:11  
left 21:12, 18; 36:15;  
46:12; 47:8; 51:25  
left-side 57:5  
legal 13:23; 14:9; 59:10  
length 53:5  
Less 11:9; 44:5  
letter 9:12; 45:23; 47:1  
letters 9:4  
level 21:14; 22:4, 16;  
26:5; 34:8; 38:22; 55:6;  
56:18

levels 34:1  
lift 23:11; 37:2; 38:7, 10;  
52:10  
lifted 54:20; 57:8  
lifting 26:21; 30:24; 34:2;  
36:19, 24; 37:10, 11, 19;  
46:10; 51:18; 54:13; 58:25  
lifts 32:1  
lighting 12:11  
likelihood 23:16  
likely 14:14, 24; 38:14  
limit 34:19  
limitation 16:24  
limitations 17:6  
limited 15:21; 24:7; 26:4;  
49:7  
Limiting 58:23; 59:1  
limits 34:3  
little 22:3; 24:9; 54:14, 15  
load 32:11, 18; 47:16;  
53:23; 54:4, 10; 55:2, 7,  
14; 56:4, 7, 13, 15; 57:11,  
17, 18  
loads 53:18, 24; 54:20;  
57:7, 8  
located 22:1  
lock-out/tag-out 12:24  
locked 31:18  
log 41:14  
long 11:8; 28:24; 44:11;  
52:15  
long-term 39:18; 40:6  
look 4:25; 19:22; 20:12,  
13; 22:2; 27:13; 28:10;  
29:7; 30:2; 42:19, 24; 43:5,  
11, 13; 45:12; 47:19  
looked 11:18; 19:24;  
41:3, 4; 46:22, 24; 47:11;  
49:20; 57:1  
looking 6:2, 3; 28:16;  
33:24; 37:8; 41:24; 43:3;  
46:3; 48:3  
Looks 4:17; 5:12; 22:8;  
30:15  
lost 41:6, 11; 43:8, 17, 25  
lot 6:22; 9:23; 35:9  
Lovejoy 4:10; 8:8; 9:14;  
10:8, 10; 11:17, 20; 15:6,  
11, 24; 16:17; 18:2, 7, 24;  
27:1; 29:13, 17; 32:24;  
37:24; 39:1; 50:22  
Lovejoy's 40:23; 57:23  
lower 34:7  
lowered 55:11  
lowering 32:11, 18; 50:4,  
17; 54:4; 55:3, 22; 57:11  
LTV 12:4  
Lucas 5:17

## M

machine 35:10  
magnet 37:13, 14, 18;  
38:7, 13, 14

magnets 38:10  
magnitude 25:8  
maintain 26:19  
maintenance 7:7, 20, 22  
8:1, 4  
makes 48:9; 52:4  
making 32:1; 52:9  
man 45:11  
managers 12:19  
manipulate 6:24  
manner 28:3; 31:18  
manual 33:1  
manufacturing 12:3  
many 4:12; 7:17; 9:16;  
17:15; 33:5; 52:18  
March 45:25; 46:25; 47:3  
marked 28:12; 35:21;  
36:5; 43:10; 53:24  
markers 40:17  
material 6:16; 8:18, 20;  
9:3; 12:1; 35:3; 42:18;  
46:22, 23; 47:14; 51:12;  
58:20  
materials 25:22; 28:3;  
31:9, 23; 33:4; 35:9, 11;  
56:24; 58:24  
matter 37:7; 51:13, 24  
may 19:22, 23; 31:4; 34:5,  
10; 43:15; 47:1  
maybe 16:3; 22:19  
mean 15:11, 15; 26:15;  
38:15; 39:3; 44:12, 16;  
50:24; 58:6  
means 8:21; 14:22  
meant 51:10  
measure 12:12  
memory 14:18  
mention 45:23  
mentioned 10:19  
mere 58:6  
met 4:9; 15:1  
metal 36:2; 55:18  
metals 38:12  
metatarsal 43:25; 44:8,  
10, 16, 17  
methodology 25:22;  
30:5; 31:3, 6; 51:19  
methods 6:3  
middle 23:10, 18; 53:25;  
57:1  
might 40:3  
mind 33:17; 34:15; 36:7  
minor 40:1; 42:21  
misjudgment 52:3  
missed 42:23; 45:2, 6  
misses 39:25  
missing 43:21  
mobile 7:9  
modifying 8:3  
monitor 39:16, 18  
monitored 39:12  
monitoring 40:15  
more 14:14, 24; 49:14

Moser 43:12  
most 9:21; 10:4; 18:1;  
19:8  
mostly 8:18  
motion 13:5, 6  
mounted 7:9  
move 18:16; 32:12;  
53:17; 55:12  
moved 23:18; 32:14;  
50:8, 15; 56:13; 57:10  
movement 47:13  
moving 11:25; 32:19;  
38:25; 41:7; 42:18; 47:17;  
48:13; 56:15  
much 16:15; 34:3, 19, 24  
multi-product 12:9  
multiple 28:14; 47:25;  
51:12, 14  
Murray 14:19  
muster 29:24

## N

N1 28:5  
name 12:20  
nature 16:3  
near 39:25  
necessarily 22:24;  
38:20; 44:9  
need 40:14  
needed 6:23  
needs 39:20  
next 38:19; 40:1; 57:16  
nipples 18:20  
none 37:9; 47:15  
normally 43:23  
northwest 7:25  
nose 18:23; 19:1, 10, 18  
notes 11:10  
notice 18:20; 36:14, 21;  
41:1  
noticed 24:17  
nuclear 34:10; 35:1, 2, 6  
number 17:8; 20:17;  
26:18, 20; 39:8; 41:1;  
43:11; 49:3, 24; 50:22;  
51:9; 57:23; 58:17  
nylon 54:21

## O

observation 57:20  
observations 49:8  
observe 11:16  
observed 16:1, 1, 6;  
28:8; 34:12  
obstruction 56:8, 20;  
57:2  
obstructions 56:8  
obvious 49:22  
obviously 27:20; 30:20  
occur 13:23; 14:3, 7, 15;

59:9  
occurred 16:11; 17:21;  
41:4; 47:9; 52:8; 55:13;  
57:21; 58:4, 16  
occurring 40:19; 57:24  
October 5:3, 11  
Off 33:9; 44:9, 11; 55:24;  
56:18  
office 48:11  
Ohio 6:22; 7:17, 25; 8:12,  
20; 10:5  
oil 53:7  
oldest 39:13  
once 40:11  
one 8:19, 24, 24, 25; 11:2;  
12:18; 17:17, 19, 25; 18:1,  
13; 20:10, 17; 21:10, 10,  
16; 22:7, 17, 19; 23:9, 16,  
16, 18; 24:9, 15; 25:7;  
26:5, 5, 18; 28:11, 16, 21;  
29:4, 5, 10; 30:8, 20, 21;  
31:24; 34:12; 35:5, 8;  
36:13, 16, 16; 37:8, 17;  
41:25; 42:22; 43:8; 45:1,  
10; 46:4; 49:3, 14, 21;  
51:13; 52:10, 23; 53:23;  
55:19  
ones 28:22; 30:12, 19,  
21; 34:24  
ongoing 13:2; 40:7  
only 5:15; 8:19; 12:1, 7;  
16:20; 29:17; 31:24;  
32:19; 33:18; 42:16, 17;  
43:20; 45:1, 15; 46:23;  
47:13; 49:20, 21; 51:3;  
52:2; 54:24  
onto 22:1; 23:14; 24:25;  
32:3, 12; 51:23  
operate 8:13, 15  
operated 7:8; 8:11, 19  
operating 6:18  
operation 11:6; 39:1;  
50:10, 20  
operations 52:16  
opinion 9:9; 14:1, 6;  
24:20, 24; 25:9; 28:2; 29:3;  
32:10; 37:24; 45:16;  
47:10; 48:18; 49:3, 24;  
50:22; 51:5; 53:17; 55:9;  
56:1, 19; 59:14  
opinions 4:11; 12:14;  
48:22  
order 37:21  
original 43:22  
originally 35:14  
Orlando 7:11  
OSHA 7:15; 9:22, 25;  
15:6, 14; 41:14  
out 6:1; 13:10, 11; 17:5;  
20:16, 19; 21:22; 28:14,  
23; 30:3, 4, 8, 10, 18, 21,  
23; 39:21; 42:15; 43:15,  
22; 50:8; 51:17; 54:1;  
55:13; 56:24; 57:10  
Over 9:20; 11:22; 29:4;  
33:2; 58:17

overhead 6:18, 23; 7:9;  
8:7, 8; 9:3; 46:9

## P

page 43:18, 19; 48:14  
painful 44:11  
painted 30:4, 22  
Pantek 35:8  
paragraph 59:6  
part 8:12, 14; 10:15, 15;  
16:22; 29:22, 25; 40:17;  
51:19  
particular 7:23; 11:13;  
36:1, 17, 18; 39:20, 21;  
44:1; 45:24  
parts 6:24, 24; 33:24  
pass 29:24  
Pat 14:20  
Patrick 14:19  
Paulding 13:11  
pay 16:15  
Pearson 46:4; 47:4;  
50:15  
peers 6:13  
pendent 8:16  
people 31:25; 52:9  
perform 50:9  
performed 11:14  
performing 50:19  
perhaps 58:14, 17  
Perry's 4:25; 5:4, 5  
person 7:7, 20; 31:2;  
43:23; 57:3  
person's 19:1  
personally 31:11  
perspective 24:16  
photo 48:1  
photograph 27:5, 24;  
36:17, 22; 38:2, 5  
photographic 49:10  
photographs 16:21;  
19:25; 27:13, 15, 19; 48:2,  
10  
photos 48:5  
phrase 31:17  
pick 8:18; 26:22; 51:25;  
55:11  
picked 51:17  
picking 8:19  
pictures 11:4; 49:10  
piece 20:24; 21:11, 12;  
23:13; 24:3, 20; 30:4;  
32:12; 33:23; 37:15;  
38:17, 18, 19; 48:16;  
49:14; 51:17; 55:18  
pieces 6:25; 17:8, 12, 15,  
19; 21:6; 24:15; 25:8;  
28:12, 21; 30:6, 10, 15;  
34:4, 5, 7; 36:14, 16, 25;  
37:17; 51:1; 55:19; 57:4  
pin 11:18; 16:2, 2, 12, 14,  
17; 17:9, 12, 16, 20, 23;

18:3, 13, 17, 24; 19:11, 16;  
22:23, 25; 23:17; 24:6, 7;  
25:3; 31:16; 48:25; 49:15  
pins 17:24; 18:1, 4, 8, 25;  
19:7, 13, 20, 22; 20:9;  
26:5, 7, 10, 11; 28:5, 7, 7,  
9; 49:7, 10, 11, 12, 20, 23;  
56:12, 22, 24  
pipe 53:6, 6, 8  
place 8:19; 45:22  
placed 53:21; 57:16  
placement 46:24  
Plaintiff's 20:1; 37:25  
plant 10:8, 24; 11:6; 12:2,  
2, 13, 19; 16:8; 19:21; 27:8  
plants 9:13, 17; 10:4, 6, 9;  
11:21; 33:3; 52:18, 21  
point 10:21  
pointed 30:3  
policies 25:17  
position 7:6; 49:19; 50:5  
positioned 21:9; 25:15  
positioning 31:25  
potential 52:2  
potentially 30:6; 56:10  
Power 6:22; 7:17; 8:12,  
20  
powerful 38:10  
precipice 32:16  
precipitated 32:23  
prefer 54:14  
presented 54:22  
pretty 31:19; 34:16, 24;  
40:4; 42:20  
prevent 30:23; 31:19;  
33:13; 58:1, 11  
preventable 58:19  
prevented 23:20; 25:10;  
27:17; 36:10; 38:20  
previous 57:21; 58:5  
previously 56:17  
primarily 26:21  
printer 48:8, 9  
prints 48:7  
prior 10:22  
private 10:1; 35:17  
probably 9:20; 10:15, 21;  
14:4; 17:18; 29:22; 35:8,  
16; 36:11; 37:16; 47:4;  
52:13  
problem 30:1  
problems 44:12, 13  
Procedure 4:4; 25:24;  
52:8  
procedures 25:17; 26:3;  
32:25; 39:22; 50:23; 51:11  
process 8:15; 50:4;  
55:22; 58:15  
processor 12:9  
production 40:20  
Products 12:22  
programs 40:12, 13  
prohibiting 25:18

prohibits 31:15  
properly 39:4; 52:4; 55:2  
protrusion 20:16  
protrusions 20:19  
provide 37:1; 40:7; 51:10  
provided 4:3; 45:11;  
48:10  
prudent 29:23  
published 9:2  
pull 37:14, 18  
pulling 37:16  
purpose 4:2; 39:17  
purposes 35:21  
pushed 16:4  
put 34:3; 36:12; 45:22  
putting 46:6; 58:24

## Q

qualified 14:1, 6; 59:13  
qualify 9:6  
quite 26:12; 39:23; 40:14;  
44:11

## R

rack 17:16; 18:17; 19:11;  
20:6, 11; 22:5, 20; 23:2, 3,  
5, 6, 11; 24:8; 25:3, 3;  
29:8; 30:5; 36:15, 16;  
51:25; 53:3; 57:25  
racked 37:3  
racking 15:24; 25:2  
racks 11:16, 18; 16:2, 2,  
6, 9, 12, 13, 14, 16, 17, 18,  
19; 17:4, 9, 11, 13, 20, 23;  
18:3, 14, 25; 19:16; 20:9;  
21:1; 23:7; 31:16; 37:8;  
48:25; 49:4, 24; 52:19, 21;  
53:1, 2  
Radio 8:16  
raising 50:16; 55:22  
rather 21:3; 26:6  
reaching 22:6; 56:25  
read 4:20, 22; 38:3  
reading 17:1; 45:2  
really 30:7; 47:9  
reason 12:6; 29:22;  
45:17; 51:22  
reasonable 25:9; 48:18;  
56:2, 19  
reasons 24:9  
Reber 15:1; 19:9, 17;  
21:4; 32:10; 50:1; 52:10,  
12; 54:4; 55:15; 56:23;  
57:9, 20; 58:19  
Reber's 4:18, 23; 5:12;  
17:20; 18:12, 19; 22:9;  
32:3, 14; 44:24; 46:21;  
47:6, 11; 53:15; 55:1  
recall 14:12; 16:14; 17:2;  
21:23; 35:24  
recommend 34:12;



54:16  
**record** 33:9, 11; 41:20, 21; 43:24; 44:2; 45:8  
**recordable** 42:10; 43:9  
**records** 39:19; 40:18; 42:19; 43:23; 44:3; 45:15  
**recurring** 58:13  
**refer** 46:25  
**referring** 22:7; 26:23; 37:12, 25  
**refresh** 14:18  
**regarding** 28:2  
**related** 41:8; 52:7  
**relates** 51:3  
**relatively** 34:8  
**remember** 12:19, 21; 13:3, 4, 4, 7, 21, 25; 44:10  
**reoccurring** 58:2, 11  
**report** 4:23, 25; 5:2, 5, 9, 10, 13; 9:4; 10:17, 19, 22; 12:14; 47:25; 48:13; 51:9; 59:7  
**reports** 45:24; 46:1; 47:11  
**reposition** 55:4  
**represent** 4:10  
**reproductions** 48:1  
**request** 40:10  
**require** 25:25  
**research** 5:25  
**resource** 15:21  
**respect** 46:20; 47:16  
**responsibility** 45:20, 21; 57:14  
**rest** 8:21  
**resting** 28:25; 38:19  
**restricted** 42:25; 44:1  
**result** 11:10  
**resulted** 45:6  
**resulting** 40:2  
**results** 15:16  
**review** 21:3, 8  
**reviewed** 5:7; 6:7; 40:23  
**RICHARD** 4:1, 7; 59:20  
**Right** 5:14; 10:3; 21:10, 18, 20; 22:20; 23:9; 30:3, 9, 11, 22; 34:17; 36:23; 37:16, 17; 43:25; 44:8; 51:4, 24; 55:5; 59:14  
**risk** 29:1; 38:24; 39:6  
**risks** 54:22  
**Robert** 46:4  
**roll** 24:25; 30:7, 11; 46:12; 51:16  
**rolled** 22:1; 23:14; 24:8, 21; 38:18; 55:18  
**rolling** 30:24  
**rough** 34:16  
**round** 6:4; 11:19; 12:7, 10; 17:19; 19:13; 21:6, 7, 11, 13; 24:15; 25:13, 18; 26:4; 28:12; 31:12; 34:4, 5, 17; 36:14, 18, 22; 38:7;

49:5, 14; 57:4  
**routine** 8:4  
**Rules** 4:3; 45:21  
**rustle** 57:4

---

**S**

---

**safe** 29:24; 50:23; 51:10, 21  
**safely** 30:8, 10  
**safer** 50:9, 19  
**safest** 37:18  
**safety** 8:13; 27:25; 29:6; 31:2; 32:24; 33:1, 4; 40:12; 45:8, 20  
**same** 20:11, 12; 21:14; 22:4, 16; 23:23; 24:1; 29:17, 18, 25; 34:25; 41:6; 43:1; 45:14; 47:4; 51:13  
**saw** 12:7; 18:22; 19:16, 21; 26:6, 11; 27:7, 14; 33:1; 34:24; 35:1; 43:20; 46:7; 58:9  
**search** 15:5, 13  
**second** 27:23; 36:22; 45:3; 51:5, 17; 59:6  
**sector** 35:17  
**secure** 20:23; 53:25; 55:7  
**securely** 53:18  
**securing** 51:18  
**seeing** 45:8  
**segregating** 58:23  
**sense** 49:5  
**separating** 23:2; 25:16  
**separation** 30:25; 38:16; 50:25  
**serious** 57:24  
**serve** 20:25  
**service** 9:13; 10:4, 6, 9; 11:21; 33:3  
**set** 56:25  
**setup** 8:3  
**several** 26:18  
**shall** 31:17; 53:18; 56:7; 57:16  
**shaped** 33:19, 21, 22  
**sheet** 41:17  
**shift** 25:23  
**shifted** 55:14  
**shifting** 29:10; 47:17  
**shops** 35:11  
**short** 24:3; 26:7  
**show** 4:17; 19:24; 27:15  
**showed** 17:18  
**showing** 20:1  
**shown** 48:6  
**shows** 19:13; 22:20; 23:7; 27:21; 28:5; 43:14, 24; 45:14  
**side** 29:10; 53:5  
**SIGMIER** 4:8, 9; 33:7, 11  
**signature** 41:23

**signed** 41:18, 20  
**significant** 28:6; 44:22  
**similar** 9:13; 46:20; 47:5, 11, 15  
**similarity** 47:13, 19  
**simple** 52:15; 58:19  
**site** 26:1  
**sitting** 34:5  
**six** 34:18, 18  
**size** 29:4, 14, 18, 18, 25; 34:25  
**sizes** 25:16; 29:5, 20; 30:2; 49:4; 51:12, 14; 52:25, 25; 58:23  
**slid** 53:15  
**slide** 25:14; 27:18; 54:1  
**sliding** 31:19  
**sling** 34:2; 37:13, 20, 20; 54:2, 5, 7, 11, 17; 55:14, 20; 56:4; 57:17, 17  
**slings** 53:18, 19, 21; 54:14, 21, 21; 56:14, 16  
**slipped** 46:11; 55:13  
**smaller** 29:4; 52:25  
**smashed** 47:8  
**soft** 12:4  
**somebody** 38:15  
**someone** 40:14  
**sophisticated** 40:12  
**sorry** 27:24; 51:7  
**sorts** 29:14  
**sounds** 14:16; 46:16; 55:5  
**space** 37:1  
**spacer** 37:5, 22, 23  
**spacers** 36:3; 37:9; 38:16; 50:25  
**spaces** 36:11  
**spacing** 36:6, 7, 8, 9, 18; 37:7  
**speaking** 53:3  
**specialty** 5:25  
**specific** 31:8  
**split** 9:23, 25  
**sprain** 52:6  
**spray** 30:22  
**square** 12:8; 27:23  
**stabilizes** 34:20  
**stack** 17:22; 18:7  
**stacked** 18:3; 19:10  
**stand** 49:25; 51:21  
**standard** 31:20, 21, 21, 25  
**standards** 31:5, 10  
**standing** 21:4, 6; 22:5; 25:18; 47:16  
**stands** 17:5  
**started** 40:15  
**stated** 12:14  
**statement** 56:5; 58:3  
**Steel** 4:10; 5:25; 9:13; 10:4, 6, 9; 11:21; 12:2, 3; 17:22; 18:3, 7, 16, 19;

19:9, 18; 20:24; 21:7; 23:10, 14; 25:16; 28:18; 29:9, 21; 31:7, 8, 10; 32:2, 3, 12; 33:3; 39:25; 41:5, 7, 8; 42:18; 46:17; 47:8, 17; 48:16, 19, 24; 49:4, 25; 51:1, 15, 18, 21, 23; 52:1, 19; 54:13, 19; 58:4  
**stick** 20:19; 26:17  
**stickiness** 54:15  
**still** 7:15; 13:2; 14:5; 27:21  
**stitches** 43:13  
**stock** 6:4; 11:19; 12:5, 7, 10; 16:5; 17:19; 21:7, 7, 12, 13; 24:15, 20; 25:7, 8, 14, 19; 26:4, 17, 20, 22; 27:17; 28:12, 24; 29:14, 17; 30:4; 31:12; 32:15; 34:4, 5, 17; 36:15, 19, 22; 37:1, 15, 22; 38:1, 8, 9, 11, 25; 48:16; 49:5, 14, 22; 50:5, 17; 51:18; 53:14; 54:13; 57:4  
**stop** 58:13  
**storage** 6:1; 11:18; 16:23, 23, 23; 26:19; 29:7, 8, 24; 31:3, 9, 23; 34:1; 35:3; 40:21; 46:23; 49:3; 53:6, 7; 57:25; 58:20  
**store** 16:18, 19; 29:4, 25; 34:19; 49:4; 53:8  
**stored** 17:9, 10, 12, 16; 27:25; 28:3; 29:17; 48:25; 51:12, 16; 52:1, 19  
**storing** 6:3; 30:1; 31:6  
**straightforward** 58:20  
**strain** 26:12  
**strength** 16:25; 17:25; 26:10; 49:7  
**strengths** 29:21  
**Strictly** 8:6; 16:10  
**strike** 10:10  
**subsequent** 5:1, 13; 10:1; 27:20; 34:7  
**substantial** 14:13, 22  
**substantially** 13:22; 14:3, 7; 59:9, 15  
**substation** 7:7, 20, 22, 23; 8:5, 6  
**substations** 8:2  
**successful** 40:5  
**suffered** 58:18  
**Sundry** 35:2  
**supervisors** 57:23  
**support** 49:12; 58:9  
**supported** 29:12  
**supporting** 47:9  
**supports** 28:1  
**sure** 4:25; 11:2; 27:20; 30:7; 33:7; 34:22; 49:11; 54:20  
**surface** 52:5  
**surfaces** 25:21  
**Susan** 12:21

**suspect** 35:14  
**suspended** 56:7; 57:8  
**sworn** 4:4  
**symmetrical** 26:19  
**symmetrically** 51:16  
**symmetry** 29:7  
**system** 23:5, 6; 25:2  
**systems** 15:24; 20:6

## T

**talk** 11:24; 12:16; 25:2; 47:25; 49:2  
**talked** 17:2; 33:16; 36:6  
**talking** 30:12; 36:7, 12; 37:4; 49:13, 13; 52:25; 53:10, 10  
**talks** 31:25  
**technical** 8:13; 29:6  
**techniques** 58:21, 21  
**ten** 7:1; 39:12; 41:7, 10, 11; 42:9, 10, 20; 58:4  
**ten-and-a-half** 24:4  
**tend** 44:4  
**terms** 19:16; 24:7; 42:19; 45:11; 54:25  
**testify** 12:25  
**testifying** 12:21; 13:21, 25; 14:12  
**testimony** 9:10; 17:1; 18:19; 21:21  
**testing** 8:3  
**textbooks** 5:19, 22  
**texts** 6:7  
**Thereupon** 35:20  
**thick-walled** 53:8  
**thickness** 35:24  
**thin** 25:6  
**though** 8:18; 13:3, 8  
**thought** 19:14; 38:3; 59:7  
**three** 16:3; 23:7; 30:6, 9, 23; 35:25; 37:8; 43:14; 46:6; 48:14; 50:22; 51:9  
**three-and-a-half** 24:5; 32:15  
**throughout** 16:8  
**tightened** 57:18  
**times** 4:12; 59:3  
**today** 14:5; 40:3  
**toe** 44:14, 18  
**together** 29:18; 52:1  
**told** 35:14  
**tomorrow** 40:4  
**ton** 7:2; 24:5; 32:15  
**took** 7:10  
**top** 20:13; 28:25; 30:12, 15, 21; 34:3, 22; 41:17, 24  
**tore** 54:24  
**total** 41:4, 7, 10; 43:9, 19  
**towards** 29:10  
**trained** 52:11  
**transcript** 4:18, 24; 13:17

transformer 6:25  
transporting 46:9, 23  
tremendous 28:4  
trial 13:5  
Troth 13:12  
trouble 44:7  
truck 7:9  
try 29:24; 32:22; 40:10, 21  
trying 33:12; 38:21; 50:5; 54:25; 56:24; 57:3  
two 19:8; 22:14, 15; 26:20; 33:25; 34:18, 20, 22; 36:16; 37:8, 21; 41:6, 11, 12, 12; 43:14, 14; 45:23; 47:8; 49:24; 50:14; 52:9  
two-inch 25:7  
two-part 25:19  
type 8:7, 8; 12:9; 16:6, 13; 20:11; 25:3; 26:5; 31:7, 8; 33:16; 36:18; 37:6; 38:25; 39:6, 9; 48:23; 54:16, 21  
types 6:8; 11:16; 12:5; 15:24; 16:9; 20:6; 31:16

## U

U.S 12:3  
uncertainties 24:12, 14  
under 26:12; 48:13, 22; 53:17; 56:7  
underneath 26:22; 28:19; 38:1  
Underslung 7:1; 8:10, 11  
uneven 25:20; 52:4  
unique 34:11; 35:15  
unless 38:22; 51:14  
up 8:17, 19; 19:7, 10, 13, 18; 21:11; 22:18; 31:2; 33:23; 37:2, 16, 18; 43:6; 51:23, 25; 52:22; 58:25  
upon 49:8  
use 31:15; 35:8, 11; 37:13, 14, 19, 21; 38:7, 14, 17; 43:2; 54:22  
used 6:23; 16:17, 18, 19, 20; 17:3; 26:24; 27:3, 11, 12; 33:19; 49:4; 54:16, 18; 58:22  
uses 51:13  
using 12:5; 27:1; 31:1; 38:16; 46:9, 10; 54:16; 58:19  
Usually 12:7

## V

V 33:21, 22  
various 7:8; 12:4; 25:16; 27:16; 35:12; 49:4; 58:23  
vehicles 40:21  
verdict 13:6

versus 12:22  
vertical 37:4; 49:7  
view 21:25; 27:16; 36:17  
violation 28:1  
violations 15:6  
visit 16:1; 18:11; 26:6  
visited 49:9

## W

waist 19:5  
wait 40:10  
walking 8:17  
wall 25:6; 53:4, 5  
watch 11:13  
way 8:23; 14:5; 27:25; 36:12, 23, 25; 37:3; 44:4; 49:21, 21; 50:9, 19; 51:8; 53:8; 55:14; 56:3; 57:9  
ways 17:3; 39:5  
Weapons 35:2, 4, 6  
Web 54:13  
webbing 31:1  
weighed 24:5  
weight 17:7; 28:5; 54:19  
weights 25:5; 38:11; 49:13; 53:10  
What's 5:2; 27:3  
Whenever 53:1  
width 35:23  
wire 33:23  
within 8:2; 24:6; 38:12; 55:7  
witnesses 17:2  
won 13:6  
wondered 48:5  
wood 26:23; 27:1; 28:17, 19; 36:1  
words 34:4  
work 7:23; 8:1; 9:25; 10:1; 25:20; 26:1; 39:9; 42:17, 25; 43:1; 52:4  
workday 41:7, 11; 43:9, 17  
workdays 43:25  
worked 6:16, 22; 7:17, 24; 45:12  
worker 52:13  
working 13:9; 14:21; 42:18; 43:22; 50:23; 51:11  
workplace 45:19  
works 4:13; 28:14  
written 25:17, 24; 26:3; 31:5; 32:24; 33:4  
wrong 47:2  
wrote 4:22; 10:17

## X

X 22:12; 23:22, 23

## Y

year 10:15, 16; 40:11; 41:5, 9, 19; 42:1; 43:20, 20; 44:22, 23; 45:5, 9  
years 7:17; 9:20; 11:22; 39:12; 58:5  
yellow 30:4, 22  
Yep 33:5; 48:15  
yesterday 40:15

## Z

zero 43:15

## Lawyer's Notes

---

