

COPY

1

Volume 1, Pages 1 - 135

Exhibits 1 - 11

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

.....
KEVIN KISS, a Minor, etc., et al.,

Plaintiffs

vs.

Case No. 402393

ANDREAS MARCOTTY, M.D., et al.,

Defendants
.....

DEPOSITION OF THOMAS REED HEDGES 111, M.D.

Thursday, January 24, 2002

2:37 p.m.

New England Eye Center

750 Washington Street

Boston, Massachusetts 02111

----- Janis T. Young, RDR/CRR -----

Farmer Arsenault Brock LLC, Boston, MA

(617) 728-4404

FARMER ARSENAULT BROCK LLC

1 APPEARANCES:

2 Becker & Mishkind Co., L.P.A.

3 Jeanne M. Tosti, Esq.

4 Skylight Office Tower

5 1600 W. 2nd Street, Suite 660

6 Cleveland, Ohio 44113

7 216-241-2600 Fax 216-241-5757

8 for Plaintiffs

9
10 Roetzel & Andress, L.P.A.

11 Anna Moore Carulas, Esq.

12 One Cleveland Center, 10th Floor

13 1375 East Ninth Street

14 Cleveland, Ohio 44114

15 216-623-0150 Fax 216-623-0134

16 for Defendants

17
18
19 (Index page at end of transcript)

1 Thursday, January 24, 2002

2 P R O C E E D I N G S (2:37 p.m.)

3 (Exhibits were marked.)

4 MS. TOSTI: Let the record show that
5 this is a deposition that's being taken pursuant to
6 the Ohio Rules of Civil Procedure, and that this is
7 a discovery deposition, for purposes of discovery
8 only, and under cross-examination, to elicit the
9 opinions of Dr. Thomas Hedges as an expert relative
10 to this case.

11 As this deposition is being taken by
12 agreement of defense counsel and plaintiffs'
13 counsel, may ■ have a stipulation from defense
14 counsel that any defects in notice or service or use
15 of a Massachusetts court reporter are waived?

16 MS. CARULAS: You may.

17 THOMAS REED HEDGES 111, M.D.
18 after having been first duly sworn under oath, was
19 questioned and testified as follows:

20 EXAMINATION

21 BY MS. TOSTI:

22 Q. Doctor, would you please state your full
23 name for us.

24 A. It's Thomas Reed, R-e-e-d, Hedges.

1 Q. And your business address?

2 A. 750 Washington Street, Boston, Mass. 02111.

3 Q. Have you had your deposition taken before?

4 A. Yes, I have.

5 Q. How many times?

6 A. At least 25.

7 Q. I'm sure that defense counsel has had a
8 chance to talk with you. I'm just going to go over
9 the ground rules.

10 This is a question-and-answer session.
11 It's under oath. It's important that you understand
12 the questions that I ask you. If you don't
13 understand them, let me know, and I'll be happy to
14 rephrase the question or to state it again.
15 Otherwise, I'm going to assume that you understood
16 my question and that you're able to answer it.

17 At any point in time, if you would like
18 to refer to the medical records and depositions that
19 were provided to you, please feel free to do so.
20 This isn't a memory test by any means.

21 It's also important that you give all of
22 your answers verbally, because our court reporter
23 can't take down head nods or hand motions.

24 At some points during this deposition

1 defense counsel may choose to enter an objection.

2 You're still required to answer my question unless,
3 for whatever basis, you're instructed not to do so.

4 Do you understand those instructions?

5 A. Yes, I do.

6 Q. Now, Doctor, did you bring your complete
7 file on this case with you today?

8 A. I have with me my notes and --

9 MS. CARULAS: I did remove, as I
10 mentioned to you, correspondence between myself and
11 my office and Dr. Hedges, as you had done with your
12 experts.

13 Q. How many correspondence were removed from
14 your file, Doctor, approximately?

15 A. Five or six.

16 Q. And aside from the correspondence, does this
17 file that is sitting before you on the table contain
18 all the materials that you've reviewed in this case?

19 A. Yes.

20 Q. Is there anything that you didn't bring with
21 you today that you reviewed and considered in
22 connection with this case?

23 A. Well, I have medical literature in my files
24 that I have referred to during my review of this

1 case.

2 Q. Can you tell me what medical literature you
3 have referred to specifically for this case?

4 A. I have various articles on papilledema and
5 visual loss associated with papilledema to which I
6 have referred during my review of this case.

7 MS. TOSTI: I'm going to request a copy
8 of those things that are in the doctor's file that
9 he reviewed specifically for this case.

10 A. I'll do the best I can. They're in my
11 files.

12 Q. Well, I would like you to pull whatever they
13 are and provide them to defense counsel, so that she
14 can provide me a copy.

15 A. I would be happy to.

16 THE WITNESS: Can you remind me to do
17 that?

18 MS. CARULAS: I will.

19 Q. Do you recall the title of any of those
20 articles?

21 A. I can recall the authors. One article I do
22 recall was by Roy Beck, dealing with visual loss
23 after reducing intracranial pressure; but I don't
24 remember the exact title.

1 I've reviewed another article by
2 Dr. Corbett dealing with papilledema and visual
3 loss; another article by James Keane, dealing with
4 visual loss after reducing intracranial pressure.

5 Those were a few of them.

6 Q. Were you given any literature to review, or
7 asked to review any particular medical literature in
8 this case?

9 A. No. The medical literature I reviewed is
10 literature that I either have in my files or
11 acquired during my review of the case.

12 Q. And did you rely upon any of the information
13 in those articles that you've just referenced to me
14 in order to formulate your opinions in this case?

15 A. Yes. I was familiar with most of the
16 literature on this, but I did refresh my memory by
17 reviewing those articles.

18 Q. Were you provided any deposition summaries
19 in this case?

20 A. Yes, I was -- oh; deposition summaries?

21 Q. Yes.

22 A. Not to my knowledge. I was provided with
23 various depositions, which you have identified here,
24 but not any summaries.

1 Q. And the depositions that you've reviewed, ■
2 believe, are Dr. Andreas Marcotty, Dr. Kosmorsky,
3 Dr. Bruce Cohen, Dr. Peter Savino, and Dr. Samuel
4 Neff; correct?

5 A. That's correct.

6 Q. Have you seen the deposition of Dr. Mark
7 Luciano, the neurosurgeon in this case?

8 A. Yes. That's in this other packet, yes.

9 Q. I'm sorry; ■ didn't --

10 A. It's included in one of these larger
11 booklets,

12 MS. CARULAS: Here it is.

13 Q. I must have missed that. May I see that?
14 When ■ skimmed through your medical records, ■
15 didn't see that that was also included in there.

16 Now, Doctor, you've told me that you've
17 had your deposition taken a number of times. I'd
18 like you to tell me a little bit about your
19 experience as an expert in medical-legal matters.
20 When is the first time you offered your services as
21 an expert as a medical-legal consultant?

22 A. I can't remember. I would guess perhaps
23 1985.

24 Q. And approximately how many medical-legal

1 matters do you consult on per year?

2 A. Currently? I would guess about five.

3 Q. Is that about how many you have currently in
4 your office that you're reviewing or acting as an
5 expert on?

6 A. I believe I'm currently reviewing about
7 five, and that may have accumulated over the years,
8 so that I'm guessing that I am asked to review a new
9 case five times a year; and that carries over to
10 five active cases per year.

11 Q. What proportion of the medical-legal matters
12 on which you've consulted has been for plaintiff,
13 and what proportion has been for defendant?

14 A. Most of my cases are defense cases; but an
15 example would be that among the five or six cases
16 I'm doing now, two happen to be plaintiff cases.
17 But that is unusual.

18 I would guess that the ratio of defense
19 to plaintiff cases is 10 to 1, defense versus
20 plaintiff.

21 Q. Of the cases in which you were consulted by
22 a plaintiff, how many times have you found
23 substandard care?

24 A. Well, each time, I wouldn't take the case if

1 ■ ■ didn't feel that -- well, let me put it this way:
2 In those cases in which I'm continuing to act as
3 witness, I have felt there was substandard care;
4 otherwise, ■ would not continue to be a witness.

5 There are occasional cases where I've
6 been asked to be a witness for both defense and
7 plaintiff cases, where ■ did not agree, and did not
8 feel comfortable continuing as a witness in those
9 situations.

10 Q. Well, let me back up on my questions, then.

11 How many cases a year do you review and
12 consult on in order to determine if you will serve
13 as an expert in litigation?

14 A. I may be asked to review about ten, and I
15 might continue with half.

16 Q. So you review about ten, and then you agree
17 to act as an expert on maybe approximately five a
18 year?

19 A. Yes; I'm called, and sometimes within a few
20 minutes ■ can tell that I'm not capable of handling
21 the case for a variety of reasons, whether it's
22 conflict of interest, whether ■ know someone
23 involved, or ■ don't feel it's within my area of
24 expertise.

1 Q. Now, Doctor, you've mentioned that you've
2 had your deposition taken about 25 times. How many
3 times as a medical expert in a medical-legal
4 proceeding?

5 A. Oh, the majority of them are. Only on
6 occasion have I been deposed, for example, for
7 reasons of workmen's compensation or that sort of
8 thing, as opposed to medical-legal cases,
9 malpractice cases.

10 Q. Have you testified at trial?

11 A. Yes.

12 Q. How many times?

13 A. Eight times, roughly.

14 Q. Have you ever testified at trial for
15 plaintiff?

16 A. Yes, I have.

17 Q. How many times?

18 A. In medical-legal cases?

19 Q. Yes.

20 A. Once.

21 Q. Doctor, what is your charge for consultation
22 on medical-legal matters?

23 A. \$350 an hour.

24 Q. Is it the same for deposition?

1 A. I have to admit, I'm not very good about
2 this, but I generally charge \$1,000 for the
3 deposition, which would include preparation and for
4 most of it. If the deposition goes for an extra-
5 long period of time, over several hours, and it's a
6 bit tedious, I might charge additional; but I don't
7 have a specific rate.

8 Q. What is your charge for trial testimony?

9 A. Basically \$1,000 for being in the courtroom;
10 and if the testimony goes beyond an hour or so, I
11 may charge extra.

12 Q. Approximately what percent of your income
13 was derived from offering your services as an expert
14 in medical-legal matters last year?

15 MS. CARULAS: Note my objection. Go
16 ahead.

17 A. I really don't know. It's relatively small.

18 MS. CARULAS: You don't have to give a
19 specific figure.

20 A. I really don't. It's a single-digit
21 percent.

22 Q. Wow many hours have you spent on this case?

23 A. About seven.

24 Q. Doctor, have you ever provided your name to

1 a professional service or a medical-legal consulting
2 firm indicating that you were available to do
3 medical-legal reviews for a fee?

4 A. I believe my name is on a few lists. In
5 other words, I've been contacted by one or two
6 organizations, and they have asked me if I could be
7 available, and I've consented; and I cannot tell you
8 their names.

9 Q. Do most of the cases that you review come
10 through those organizations?

11 A. No, not that I'm aware of.

12 Q. Do they tell you, when people contact you,
13 as to how they contacted you?

14 A. Sometimes; but I haven't kept track of that,
15 and I don't keep a record. I mean, I have no idea.
16 I don't recall at all how my name came up in this
17 case.

18 Q. Well, when you do work through the
19 organizations, do you receive fees through that
20 particular service?

21 A. Yes. I believe, I do recall, I think Pro
22 Mutual is a company -- I think they're national --
23 that does malpractice cases; and I believe that when
24 they have contacted me, they have identified that

1 they contacted me through the organization, and I
2 believe they have paid me in that indirect way.

3 Q. And you believe there's another
4 organization, but you don't recall the name of that
5 organization?

6 A. There may be others. I don't keep track of
7 that. I don't keep accurate records regarding those
8 sorts of things.

9 Q. Have you ever been named as a defendant in a
10 medical-negligence case?

11 MS. CARULAS: Objection. Go ahead.

12 A. I don't recall if the term "negligence" was
13 used, but I have been a defendant in two malpractice
14 cases.

15 Q. And when were those cases filed, Doctor?

16 MS. CARULAS: A continuing objection.
17 Go ahead.

18 A. One was about 1983, in which I was named as
19 one of the defendants; and that case was terminated
20 without my being involved, after the first
21 deposition.

22 And the second case was two years ago,
23 where I was one of four defendants in a malpractice
24 case, which was found in my favor by the jury.

1 Q. Were both of those cases filed here in the
2 Boston area?

3 A. Yes.

4 Q. Who was the plaintiff in those two cases?

5 A. I'm afraid ■ can't recall; too painful to
6 recall. I'm not sure I can recall the exact name of
7 the first case.

8 Q. How about the second case?

9 A. The second one, sorry; you've got me caught
10 off guard here, and ■ can't tell you. I've tried to
11 put it out of my mind.

12 Q. Well, what was the allegation of negligence
13 in the most recent case?

14 A. Lack of diagnosis.

15 Q. What type of diagnosis?

16 A. Cerebral aneurysm.

17 Q. What happened to the individual in which
18 there was an allegation of a lack of diagnosis?

19 A. Can ■ just consult with my lawyer, please?
20 You've got me thinking about my case.

21 (Discussion off the record)

22 MS. TOSTI: Back on the record.

23 Q. Doctor, I would like you to tell me what the
24 allegation of negligence was in the most recent

1 case.

2 I believe you told me it was a lack of
3 diagnosis in regard to a cerebral aneurysm, and then
4 I asked what happened to the individual that the
5 allegation was that there was a lack of diagnosis.

6 MS. CARULAS: And I just have a
7 continuing objection to this line. Go ahead.

8 A. This was a woman with minimal symptoms and
9 findings that, before I had a chance to follow up on
10 her care, because of problems with the family, ended
11 up dying because her aneurysm was not diagnosed.

12 Q. And that case, did it go to trial?

13 A. Yes, it did.

14 Q. And the result was in your favor?

15 A. Correct.

16 Q. Now, you mentioned that there was another
17 case that was earlier, in the early 1980s. What was
18 the allegation of negligence in that case?

19 A. Failure to diagnose retinopathy at
20 prematurity.

21 Q. And you mentioned that you had your
22 deposition taken in that case. Were you dismissed
23 out of the case after the deposition was taken?

24 A. That's correct. The fault was found with

1 the hospital, not mine. It's hospital policy. This
2 involved a resident who lied to me about following
3 up on the referral that ■ requested to be made to a
4 retina specialist; and the referral was not made,
5 even though ■ was assured that it had been.

6 Q. Has your medical license ever been suspended
7 or revoked or called into question?

8 A. No.

9 Q. Have you ever been the subject of any
10 disciplinary action by a state medical board?

11 A. No.

12 Q. Have you ever been asked to review a
13 medical-legal matter involving issues of vision loss
14 and increased intracranial pressure, and vision loss
15 and papilledema?

16 A. Yes.

17 Q. How many times?

18 A. There's one case ■ can recall, but I'm sure
19 there were others.

20 Q. Was that case filed?

21 A. Oh, yes.

22 Q. Did you have your deposition taken in that
23 case?

24 A. Yes.

1 Q. Did it go to trial?

2 A. I don't think so.

3 Q. Were you acting as an expert for plaintiff
4 or for defense in that case?

5 A. Defense.

6 Q. Where was that case filed, Doctor?

7 A. I believe it was Connecticut.

8 Q. And do you recall the name of the plaintiff
9 in that case?

10 A. No.

11 Q. What was the allegation of negligence that
12 you were reviewing in that case?

13 A. Now that I recall the case, I'm sorry, it
14 was complicated, because it was not clear whether it
15 was papilledema or papillitis from sarcoidosis; but
16 in any event, it was a matter of an ophthalmologist
17 who was trying to manage the patient as best he
18 could.

19 As I recall, the patient went to another
20 ophthalmologist for some matter of workmen's
21 compensation unrelated to the medical problem. The
22 subsequent ophthalmologist suddenly declared the
23 patient's papilledema an emergency, himself
24 misdiagnosing the case; and I don't recall the

1 ultimate final diagnosis, because the specialist the
2 patient was referred to immediately couldn't sort it
3 out, but somehow they sued the first doctor, and
4 they settled for some reason.

5 Q. The allegation was that there was a failure
6 to diagnose the problem?

7 A. That's correct.

8 Q. And was there an allegation that it was
9 papilledema that was not timely diagnosed?

10 A. Yes, I think so. I'm not sure, because I
11 think there was some confusion on the part of the
12 doctor who told the patient that she had this
13 problem with regard to the diagnosis, and I'm fuzzy
14 on the details. As I recall, she was ultimately
15 referred to a specialist, who still had trouble
16 making the diagnosis and instituting appropriate
17 care.

18 So ultimately there was no real delay in
19 the diagnosis; there was an allegation of delay in
20 the diagnosis. Again, I don't recall the details,
21 but it was a very confusing business.

22 But I believe it was that they were
23 alleging a delay in management of papilledema that
24 had already been identified and was being managed

1 appropriately, and continued to be managed the same
2 way.

3 Q. Where in Connecticut was this case?

4 A. I don't recall the town.

5 Q. Do you recall the attorney that you worked
6 with?

7 A. No.

8 Q. Other than on this case, have you ever
9 worked with Ms. Carulas before?

10 A. No, I haven't.

11 Q. Have you ever worked with her law firm,
12 Roetzel & Andress, before?

13 A. Not that I know of.

14 THE WITNESS: Have I?

15 MS. CARULAS: I don't believe so.

16 Q. Do you know how it is that you came to be
17 contacted regarding this case?

18 A. I'm not sure. I believe it might have been
19 an e-mail or a phone call.

20 Q. Do you know if anybody suggested that you in
21 particular should review this case?

22 A. No, I don't. I don't know how I was chosen.
23 I may have been told, but it didn't register.

24 Q. Do you know when this case is set for trial?

1 A. We think it's February 11.

2 Q. And have you been asked to come to Cleveland
3 to testify in this trial?

4 A. Yes.

5 Q. Now, prior to accepting this case for
6 review, did you have any contact with any of the
7 medical providers that were identified in Kevin
8 Kiss's medical records?

9 A. I do know two individuals who have been
10 involved in this case. One is Dr. Kosmorsky, who's
11 a neuro-ophthalmic colleague of mine, whom I have
12 gotten to know through various meetings we attend;
13 and I also know Dr. Allan Cohen, who used to work
14 here at the Boston Floating Hospital.

15 Q. When is the last time that you had contact
16 with Dr. Kosmorsky?

17 A. Five years ago.

18 Q. So I take it you've never discussed any of
19 the facts of this case with him; is that correct?

20 A, I have not.

21 Q. And have you spoken with any of the people
22 identified in the records since the time that you
23 were consulted on this case?

24 A. I have not.

1 Q. Have you had any contact with any of the
2 experts that have been identified in this case;
3 Dr. Savino, Dr. Neff, Dr. Boop?

4 A. ■ might have attended a meeting that
5 Dr. Savino also attended during the time this case
6 has been under review by myself. I did not know he
7 was reviewing the case at the time, and we really
8 did not converse very much at all during that
9 meeting, which was in Toronto last year about this
10 time.

11 Q. How about in regard to Dr. Neff or Dr. Boop?

12 A. No, I have not.

13 Oh, I apologize; I do know Doctor -- I'm
14 sorry; earlier you asked me if ■ knew any of the
15 experts?

16 Q. Yes.

17 A. ■ apologize. ■ do know Dr. Neff; he was a
18 resident here several years ago. ■ do know
19 Dr. Savino, and I do not know Dr. Boop.

20 Q. In regard to Dr. Neff, you mentioned that he
21 was a resident. ■ believe it was a residency in
22 neurology?

23 A. Neurosurgery.

24 Q. Neurosurgery; I'm sorry. Did you work with

1 Dr. Neff when he was doing his residency?

2 A. Oh, yes.

3 Q. Were you involved in his supervision?

4 A. No. I interact daily with the neurosurgery
5 staff here, including the residents; and when he was
6 a resident here, we did interact more as colleagues,
7 although as a resident, I would be more in the role
8 of teacher, and he would be more in the role of
9 student.

10 But it was not very formal; it was more
11 in the realm of patient management.

12 Q. Maybe you said this and I missed it. Were
13 you an attending at that point in time, or a staff
14 person, at the time that Dr. Neff was in his
15 residency?

16 A. Yes.

17 Q. Doctor, would you agree that Dr. Peter
18 Savino is a respected expert in the field of neuro-
19 ophthalmology?

20 A. Yes, he is.

21 Q. Now, Doctor, I have a copy of your
22 curriculum vitae that I've marked as Plaintiffs'
23 Exhibit 1, and I would just like you to identify
24 that document for the record.

1 A. This is my curriculum vitae, updated as of
2 1-19-2000.

3 Q. You can have a chance to look **it** over. Is
4 **it** current and up to date, or are there any
5 additions or corrections that you'd like to make to
6 it?

7 A. It's a year old -- two years old -- so that
8 there have been some additional meetings I've
9 attended, talks that **I** have given, and a few more
10 articles that I have published.

11 Q. The articles that do not appear on your CV,
12 do any of them have particular implications for this
13 case?

14 A. Not directly, but **I** have published one paper
15 on papilledema that's not on the list of references.

16 Q. Can you tell me what the title of that
17 article is?

18 A. Optical Coherence Tomography Demonstrates
19 Subretinal Fluid from Papilledema.

20 Q. And aside from **it** being on the subject of
21 papilledema, is there anything in that particular
22 publication that you feel has implications for the
23 facts in this case as you understand them?

24 A. I don't think **it** has any significant impact.

1 Q. Now, Doctor, you are board-certified in
2 ophthalmology; is that correct?

3 A. Correct.

4 Q. Did you pass that board certification on
5 your first attempt?

6 A. Yes.

7 Q. Do you hold any other professional
8 certifications?

9 A. Not in any subspecialty.

10 Q. Who is your current employer?

11 A. New England Medical Center.

12 Q. And do you provide professional services for
13 any other entity besides the New England Medical
14 Center?

15 A. I am a consultant at the Boston Veterans
16 Hospital.

17 Q. Is that a paid position?

18 A. Yes. And I am also on the staff at the
19 St. Elizabeth's Medical Center, through a satellite
20 office of the New England Eye Center.

21 Q. And is that a separately paid position?

22 A. No.

23 Q. How long have you been an employee of the
24 New England Medical Center?

1 A. Twenty-one years.

2 Q. Doctor, your offices are here at 750
3 Washington Street; correct?

4 A. Yes. 750 Washington Street is our mailing
5 address for the medical center. My office is really
6 at 260 Tremont Street.

7 Q. I think I found that out coming to the
8 deposition.

9 A. Yes; I apologize.

10 Q. Do you maintain medical offices outside of
11 your office in this building?

12 A. No. I utilize our facility at the
13 St. Elizabeth's Hospital, and I work in the clinic
14 at the VA.

15 Q. And do you have any private practice aside
16 from your practice through the entities that you
17 just mentioned to me?

18 A. No, I don't.

19 Q. Now, your curriculum vitae indicates that
20 you hold an academic position with Tufts University,
21 I believe as a professor of ophthalmology and
22 neurology; is that correct?

23 A. That's correct.

24 Q. Can you tell me what your duties and

1 responsibilities are with that position?

2 A. My academic duties?

3 Q. Yes.

4 A. I teach medical students through lectures
5 and rotations through my clinical practice. I
6 supervise residents within my clinical practice, and
7 I provide lectures, attend grand rounds for those
8 residents.

9 As part of my academic appointment in
10 neurology, I also teach neurology residents who
11 rotate through my office, and attend conferences
12 within the department of neurology.

13 I also teach fellows who have finished
14 their residency in either neurology or ophthalmology
15 and supervise research that they conduct as part of
16 their fellowship.

17 Q. So the two groups of residents that you work
18 with are neurology residents as well as
19 ophthalmology residents?

20 A. That's correct.

21 Q. Doctor, you've got a number of publications
22 on your curriculum vitae. Are any of these
23 publications ones that you believe have particular
24 relevance to the issues in this case as you

1 understand them?

2 A. Many of these references deal with
3 papilledema in one way or the other, and I could
4 point those out to you if you'd like.

5 Q. Well, I'm asking if there are specific ones
6 that you think have particular relevance to the
7 issues in this case.

8 A. Not particularly.

9 I might point out one reference.
10 Reference 52.

11 Q. Retinal Nerve Fiber Layer Changes and Visual
12 Field Loss in Idiopathic Intracranial Hypertension?

13 A. That's correct. That's probably the most
14 relevant.

15 Q. Doctor, do you currently hold any
16 administrative appointments?

17 A. I assist in various administrative duties as
18 part of my membership in the eye department, but I
19 do not have any official assignments that would be
20 considered administrative.

21 Q. I'd like you to describe for me how you
22 divide your professional time between your
23 academics, your clinical, if you are involved in
24 research or administrative duties; if you would just

1 kind of give me an overview.

2 A. It's difficult to separate, because ■
3 primarily practice clinical neuro-ophthalmology five
4 days a week; so my teaching goes side by side with
5 that.

6 To give you a rough estimate, I would
7 say that 80 percent of my time is devoted to the
8 clinical practice of neuro-ophthalmology, 10 percent
9 is teaching, and 10 percent is research.

10 Q. Is your current neuro-ophthalmology practice
11 limited to any particular age groups for patients?

12 A. No.

13 Q. So you see children, adolescents, as well as
14 adults?

15 A. I see infants, from infancy to eighties, and
16 beyond.

17 Q. What percent of your cases, approximately,
18 would you say are pediatric cases?

19 A. Fifteen percent.

20 Q. Do you see any patients for general
21 ophthalmology concerns that don't involve neurology-
22 related concerns?

23 A. Well, many of my patients that are referred
24 with a neurologic concern turn out to have a

1 general-ophthalmology type of problem, something as
2 mundane as a cataract.

3 But as a rule, my general practice is
4 generally limited to staff members; whereas the bulk
5 of my practice is devoted to consultation by
6 referral from other ophthalmologists, neurologists,
7 neurosurgeons, internists and optometrists.

8 Q. So the majority of referrals you get is
9 because there's a neuro-ophthalmology-related
10 concern; and whether it turns out to be that or not
11 is another matter?

12 A. That's correct.

13 Q. Have you ever been involved in any research
14 dealing with the subject matter of papilledema?

15 A. Yes, I have.

16 Q. How about increased intracranial pressure?

17 A. Yes.

18 Q. Related to the papilledema?

19 A. They almost go hand-in-hand, yes.

20 Q. Any specifically with optic atrophy?

21 A. Again, they're related, usually.

22 Or are you referring to optic atrophy
23 exclusive of papilledema?

24 Q. No; in conjunction with papilledema.

1 A. I would say most of the activities have
2 dealt with --

3 Q. All three of those entities?

4 A. Optic atrophy for the most part has been
5 part of the issues we've been dealing with in the
6 research.

7 Q. Are you involved with any research currently
8 which deals with papilledema, increased intracranial
9 pressure, optic atrophy?

10 A. Yes. We are employing optical coherence
11 tomography in the assessment and management of
12 patients with papilledema.

13 Q. Can you give me a description or a
14 definition as to what optical coherence tomography
15 is?

16 A. Yes. This is a technique by which we shine
17 a light of a specific frequency into the eye, which
18 is then reflected back into an instrument which can
19 create, using a computer, a cross-sectional image of
20 the retina, a portion of the optic nerve, and most
21 importantly the nerve fiber layer, allowing us to
22 make relatively accurate measurements to determine
23 whether there is swelling of those elements or
24 attrition due to disease.

1 Q. And when you say attrition, would attrition
2 relate to atrophy?

3 A. Correct. When there's optic atrophy, the
4 retinal nerve fiber layer becomes thinner, as
5 measured by this instrument.

6 Q. Is there a particular question or hypothesis
7 that you are researching in that?

8 A. Yes. Recently we've been interested in how
9 fluid may accumulate underneath the retina in
10 association with swelling of the optic nerve in
11 patients with papilledema and other causes of optic-
12 disc swelling.

13 We are also using optical coherence
14 tomography to measure changes in the nerve in order
15 to determine if there is increasing swelling or
16 worsening of papilledema, or whether there is
17 improvement in the degree of papilledema, or if
18 there is the superimposed development of optic
19 atrophy in the presence of papilledema.

20 Q. Now, Doctor, you previously mentioned the
21 one addition to your curriculum vitae. Does that
22 particular article that you mentioned have to do
23 with this study, the preliminary findings?

24 A. Yes. That relates to the development of

1 fluid under the retina.

2 Q. Who's the chief investigator on this study?

3 A. I am.

4 Q. And where are the study sites? Here?

5 A. This is not a formal study that is being
6 funded as such. This is continuing utilization of
7 an approved instrument for this purpose; so we are
8 not specifically funded, nor are there other sites.
9 It's a study that I'm doing in this institution.

10 Q. And have you come up with any preliminary
11 findings at this point in regard to the optical
12 coherence tomography?

13 A. Yes. We found it interesting and helpful
14 in determining if there are visual losses that may
15 occur in patients with papilledema due to effects on
16 the retina, as opposed to effects on the nerves.

17 We've also found it a useful means of
18 monitoring papilledema in those patients, especially
19 those who have papilledema over a long period of
20 time.

21 Q. So this might have application where the
22 patient would be followed in a serial fashion with
23 this particular type of a device?

24 A. That's correct.

1 Q. And it would tell you if there were problems
2 that were developing over a period of time?

3 A. That's our intention.

4 Q. Now, other than this particular study, have
5 you been involved in other studies that deal with
6 papilledema, increased intracranial pressure, optic
7 atrophy?

8 A. Yes. One of our projects has been an
9 attempt to use this instrument to differentiate very
10 mild papilledema from the apparent swelling that
11 occurs in patients who have a nerve that's crowded
12 within the opening through which the nerve passes
13 due to the structure of the eye.

14 Q. Now, was that a study that's been already
15 concluded, that you've done?

16 A. Yes.

17 Q. And what were your findings in that study?

18 A. We found that this did not distinguish a
19 crowded nerve from one that was mildly swollen from
20 increased intracranial pressure.

21 We found that, with both the so-called
22 pseudo-papilledema group and the group with true
23 papilledema, in both cases the nerve fiber layer was
24 thicker than the normal population.

1 Q. Are your findings from that previous study
2 reported in any articles in your curriculum vitae?

3 A. No. We've had some difficulty getting the
4 paper accepted.

5 Q. And why is that? Do you know?

6 A. I believe in one case it had to do with our
7 choice of statistical methods. The reviewer
8 recommended a different statistical approach, which
9 would involve a tremendous amount of work. So we're
10 considering submitting the paper to another journal.

11 Q. Now, the two studies that we just talked
12 about, do you think that the findings of these
13 studies have any implications for this particular
14 case?

15 A. Not as such. This is experimental work. It
16 involves sophisticated equipment.

17 Q. Other than the two studies that we just
18 talked about, any other research that you've been
19 involved with with papilledema?

20 A. Yes. Since my high-school years, working in
21 my father's laboratory, I was involved with studying
22 experimental papilledema. Indeed, the first
23 reference on my CV is a paper which was published
24 when I was a senior in high school, dealing with the

1 nature of papilledema study with fluorescein.

2 Q. So I take it that your father is T. R.

3 Hedges?

4 A. Junior.

5 Q. Is he an ophthalmologist or neurologist?

6 A. He's a neuro-ophthalmologist.

7 Q. Doctor, you don't hold yourself out as an
8 expert in neurosurgery, do you?

9 A. No, I do not.

10 Q. Tell me where you have hospital privileges.

11 I know that you've told me that you were on staff
12 at, I believe it was St. Elizabeth's?

13 A. St. Elizabeth's.

14 Q. And here at Tufts. Do you have hospital
15 privileges also at, I believe you said Boston
16 Veterans Hospital?

17 A. That's correct.

18 Q. Any place else?

19 A. I may still have privileges at Newton-
20 Wellesley Hospital, but I haven't been there in
21 years.

22 Q. Are they admitting privileges, for all three
23 of those hospitals?

24 A. For the three, New England Medical Center,

1 the Veterans Hospital, and St. Elizabeth's Hospital,
2 I do have admitting privileges.

3 Q. Now, Doctor, I take it that in your academic
4 work you have given presentations on the subject
5 matter of papilledema as it relates to increased
6 intracranial pressure? Have you done that?

7 A. I'm sorry?

8 Q. Have you lectured in an academic setting
9 on --

10 A. Yes, I have.

11 Q. Have you given formal classroom lectures?

12 A. Yes.

13 Q. Do you have any presentations on that
14 subject matter that have been reduced to written
15 form; video, audiotape?

16 A. I have handouts that I've used over the
17 years.

18 Q. Specifically on the subject matter of
19 papilledema?

20 A. Yes.

21 Q. Is that something that you would be able to
22 produce to defense counsel?

23 A. Yes.

24 Q. I'm going to make a request that you do

1 that.

2 MS. TOSTI: And then, to defense
3 counsel, I'm going to make a request that you
4 provide those to me.

5 THE WITNESS: If you'll remind me.

6 Q. What book do you consider to be the leading
7 text in neuro-ophthalmology?

8 A. Walsh and Hoyt's Textbook of Neuro-
9 Ophthalmology.

10 Q. Is that the one that you recommend to your
11 residents?

12 A. No. It's much too voluminous.

13 You want to know what I would recommend
14 to the residents?

15 Q. Yes.

16 A. I certainly would love it if they would read
17 that book, but I don't expect them to read all
18 (gesturing) --

19 Q. It's multiple volumes?

20 A. -- five or six volumes.

21 I have recommended a shortened version
22 of that same text by Dr. Miller and Dr. Newman,
23 called Neuro-Ophthalmology: The Essentials.

24 My first recommendation is a book by

1 Glaser, which also has been distinguished in Duane's
2 Volumes of Ophthalmology; and I insist that all the
3 residents memorize the American Academy of
4 Ophthalmology Basic Science Course volume on neuro-
5 ophthalmology.

6 Q. In your practice, do you refer to, I believe
7 you said it was the Walsh and Hoyt text?

8 A. Walsh and Hoyt textbook; yes.

9 Q. And do you find that it contains reliable
10 information for your practice?

11 A. For the most part.

12 Q. Now, Doctor, you've reviewed a number of
13 materials in generating your report, and I've had an
14 opportunity to look through your file. I just want
15 to go through some of these, and if you'll just tell
16 me if you've reviewed them, that will be fine.

17 There were medical records from the
18 primary-care physicians called Kids in the Sun. Did
19 you look through those?

20 A. Yes, I did.

21 Q. And Signature Eye Association medical
22 records, I believe that when Kevin saw Dr. Marcotty,
23 you've seen those records?

24 A. Yes, I have.

1 Q. Cleveland Clinic outpatient records?

2 A. Yes.

3 Q. And the two Cleveland Clinic hospital
4 admissions, one for the fenestration procedure and
5 one for the shunting procedure?

6 A. Yes, I have.

7 Q. There are also some counseling records from
8 Benedetto & Associates?

9 THE WITNESS: Are they in here?

10 MS. CARULAS: Right there.

11 A. Yes, I have.

12 Q. And records from Dr. Amy Jeffrey, a
13 pediatric ophthalmologist?

14 A. Yes.

15 Q. And Dr. Allan Cohen, a pediatric
16 neurosurgeon?

17 A. Yes.

18 Q. And I believe also an evaluation by
19 Dr. Howard Tucker, a neurologist?

20 A. I believe I have. I can't recall.

21 Q. Now, we've already gone through the
22 depositions that you have. Have you seen the
23 depositions of Kevin's parents, Ann Kiss and Raymond
24 Kiss? I did not see them in your file.

1 A. I don't recall actually reviewing them.
2 Could I just check?

3 THE WITNESS: Have I seen them?

4 MS. CARULAS: I honestly don't remember.

5 A. If they're not here, then I haven't reviewed
6 them, to my knowledge; to my recollection.

7 Q. Have you reviewed any of the imaging films?

8 A. Yes, I have.

9 Q. Doctor, obviously you didn't bring those
10 with you today; so can you tell me what films that
11 you have reviewed?

12 A. Yes.

13 Actually, you do have some drawings I
14 made of them. I would tell you to refer to that.

15 Q. All right; let me just have one minute here.

16 You're referring to what's been marked
17 as Plaintiffs' Exhibit 6. Tell me what films you
18 have reviewed in this case.

19 A. They're not necessarily in chronological
20 order; but there's a CAT scan from 1-22-98, from
21 2-10-98, 4-7-98, 6-98 -- I don't know the date --
22 11-21-97, and that's...

23 Q. Now, the document that we have here that's
24 marked as Plaintiffs' Exhibit 6 looks like some hand

1 drawings. Could you just tell us what that document
2 is?

3 A. Yes. These are drawings of the scans, the
4 dates of which I just provided, which gave me a
5 sense of what the neurosurgical issues were.

6 Q. And could you go through the various
7 drawings that you have on this document, and tell me
8 what you were depicting here that you felt was of
9 significance?

10 A. Well, I document the relative size of the
11 cyst, rather crudely; the presence of some fluid,
12 which I believe was probably subdural fluid; and I
13 was also interested in the relationship of the optic
14 nerves to the cyst.

15 Q. In looking at the various imaging studies,
16 did you arrive at any conclusions in regard to this
17 case?

18 A. They confirmed basically the reports of the
19 radiologist as indicated in the records, that there
20 was a cyst that I believe was reduced *in* size but
21 still present at the fenestration, but also
22 associated with some papilledema in the subdural-
23 space fluid; that there had been little change in
24 the cyst size at least between January 22, February

1 10, and April 7 of 1998; and that the optic nerves,
2 or at least the chiasm, was the upper part of the
3 cyst or formed part of the upper roof, if you will,
4 of the cyst.

5 Q. Did you review any of the expert reports of
6 Dr. Samuel Neff or Dr. Peter Savino?

7 A. I reviewed their depositions.

8 Q. But have you seen their reports?

9 A. I may have.

10 MS. CARULAS: I know I sent you those at
11 some point. It would have been prior to the
12 depositions.

13 THE WITNESS: Yes. Were they in your
14 correspondence?

15 MS. CARULAS: Mm-hmm.

16 A. Then I did.

17 Q. And those have been removed from your file
18 with the correspondence of defense counsel?

19 MS. CARULAS: I don't know. They may
20 have come out when I grabbed all the correspondence.
21 I don't believe so.

22 But I know just from looking at your
23 report, you say, as well as the expert opinion
24 statements of Peter Savino and Samuel Neff; so I

1 know ■ sent them to him at some point.

2 THE WITNESS: I might have stuck them in
3 there.

4 (Beeper; recess taken)

5 MS. TOSTI: We're back on the record.

6 Q. Doctor, at any point in time when you were
7 reviewing this case, did you request that defense
8 counsel send you any additional materials other than
9 what you originally got on the case?

10 A. No, I did not.

11 Q. Have you read all the depositions that you've
12 received?

13 A. Yes.

14 Q. Other than the articles that we've just
15 previously talked about, are there any publications
16 that you believe have particular significance to
17 your opinions in this case?

18 A. Well, there are many articles on
19 papilledema, and visual loss associated with
20 papilledema, that I have in my files to which I
21 refer and to which I may have referred; but the
22 pertinent articles from my perspective are those ■
23 mentioned to you earlier.

24 Q. And my question is, if there's a particular

1 publication that you think is very significant to
2 your opinions, as you sit here today, if there is
3 one, I want you to tell me. If there isn't one
4 specific one, that's fine; just tell me that.

5 A. No.

6 Can I just do one more thing? I'm
7 sorry.

8 (Discussion off the record)

9 MS. TOSTI: Back on the record.

10 Q. Did you consult with any physicians at any
11 time regarding this case?

12 A. Yes.

13 Q. Who did you consult with?

14 A. I consulted one of our neurosurgeons,
15 particularly regarding the x-ray films.

16 Q. Who was the neurosurgeon that you consulted?

17 A. Carl Heilman.

18 Q. And did you have him look at the actual
19 film?

20 A. Briefly.

21 Q. Which film did you have him look at?

22 A. I believe I showed him a sampling of some of
23 the films to which we referred earlier.

24 Q. You don't recall which ones specifically you

I gave him to look at?

A. No. I was attempting not to involve him very much in the nature of the case, but I wanted some general information about this type of cyst.

Q. And after he looked at the films, did he give you an assessment of those films?

MS. CARULAS: Just note my objection to any hearsay, but go ahead.

A. Yes.

Q. What did he tell you about the films?

A. He confirmed that it was an arachnoid cyst, and mentioned to me the various ways to manage such a cyst.

Q. What did he tell you?

MS. CARULAS: Continuing objection to the line; but go ahead.

A. He told me these cysts can be fenestrated, and they may be shunted.

Q. Did he have any opinions with regard to what should have been done in this particular case?

A. No. I did not want to involve him in that type of discussion.

Q. Was there anything beyond what you've just told me in regard to your discussions with

1 Dr. Heilman?

2 A. Not specifically. I may have given him a
3 general sense of why I was asking to show him the
4 films; that there was a child who had had
5 papilledema, and had loss of vision after the
6 shunting procedure.

7 Q. Did he express any opinions to you as to
8 what happened to Kevin Kiss in this case?

9 A. No. I did not want him to become involved
10 at that level.

11 Q. And aside from what you've just told me,
12 there was nothing else that you recall specifically
13 as to his comments about the films that he reviewed?

14 A. No, not regarding this case.

15 Q. And no other conversations in regard to this
16 case specifically, even aside from the films?

17 A. Not this case specifically.

18 I will mention that one reason I chose
19 him was because we have had conversations about
20 similar types of problems with regard to visual loss
21 after decompression of various masses in children;
22 and we have discussed that issue in general, and
23 with regard to specific cases here, but not with
24 regard to this case.

1 Q. And in regard to specific cases here, what
2 has he told you about the decompression of masses in
3 general?

4 A. Well, we've had one case within the last
5 year, and I believe we had another case within the
6 last few years, and I've had others before. This is
7 a problem that we are concerned about, and of course
8 our discussions surround how we might predict this
9 and prevent it.

10 Q. Doctor, the case that you had in the last
11 year, was this a patient that you were consulting
12 on?

13 A. Yes.

14 Q. And was this a child or an adult?

15 A. A child.

16 Q. Can you tell me what occurred in that case?

17 MS. CARULAS: Just note my objection.

18 Go ahead.

19 A. I had reviewed it for a while and discussed
20 it; and I can't remember exactly the nature of the
21 lesion, but I believe it was a tumor that was
22 causing obstruction of the normal spinal-fluid flow
23 around the brain with associated papilledema.

24 After uneventful removal of the tumor in

1 that case, I believe, the child progressively lost
2 vision and developed optic atrophy.

3 Q. When did the vision loss start to occur?

4 A. We don't know exactly, but relatively soon
5 after the surgery.

6 Q. Was the child tested before the surgery?

7 A. I did not see the child before surgery, and
8 I don't think he was tested by an ophthalmologist.

9 Q. You don't know how long after the surgery he
10 started to develop the vision loss?

11 A. That's part of the problem. We don't know
12 exactly when he might have started to lose vision.
13 I think the parents became aware of it within a week
14 or two after the surgery.

15 Q. And after it was first noted, was there a
16 progression of the vision loss?

17 A. We believe it was relatively rapid, if not
18 acute; and once the visual loss was recognized and
19 the child was having apparent difficulty seeing,
20 there was already a significant loss of vision.

21 Q. And did it become any worse after it was
22 initially found by a physician to be present?

23 A. Again, I haven't reviewed the case recently.
24 But when I saw the child, which I believe was

1 relatively soon after surgery, the visual loss was
2 already profound, and I have not seen any
3 progression. Indeed, I think I've seen some
4 improvement, or at least there's been improvement in
5 his function.

6 Q. And this child had chronic papilledema prior
7 to the decompression that was done?

8 A. I don't recall whether it was chronic
9 papilledema. My understanding from Dr. Heilman is
10 that it was relatively subacute.

11 Perhaps, if we were to guess, present
12 maybe for months or weeks; a few months to weeks.
13 But we don't know.

14 Q. Now, that was a case that you had in the
15 last year. You said you've had other cases where
16 there has been vision loss after a decompression-
17 type surgery?

18 A. I remember one case fairly soon after I
19 started here, with another very well-known pediatric
20 neurosurgeon, and this would have been in the '80s.
21 I remember some conversations we had as to how this
22 might be prevented.

23 One of the problems with this entity is
24 that it's so rare that we talk about this, and then

1 it just doesn't happen for such a long period of
2 time, that unfortunately we don't really deal with
3 it.

4 I'm not sure how we would deal with it;
5 but after that case, although the conversation might
6 come up periodically, we really didn't initiate any
7 specific, or could not come up with any specific
8 procedures with which to try to deal with this
9 problem.

10 Q. How many cases have you seen reported in the
11 literature where there has been visual loss after
12 decompression?

13 A. How many cases have I personally been
14 involved with that are similar to this?

15 Q. No; how many cases have you seen reported in
16 the literature where there has been visual loss
17 after decompression such as you've described?

18 A. It is underreported, and there are probably
19 15 cases in the literature since the '60s.

20 Q. And the case that you had this past year,
21 did you report that in the literature?

22 A. No. We're contemplating this. This is
23 something I might take up after this case is dealt
24 with. Indeed, we may deal with it sooner than that.

1 But I haven't discussed this case with
2 anybody, so I haven't discussed this problem
3 essentially with anyone else except for one other
4 individual.

5 But it is something that this case in
6 particular has stimulated my interest in, so that I
7 may want to address this problem in the future.

8 Q. What is the incidence of visual loss after a
9 decompression-type surgery similar to what you've
10 just described? What's the incidence of that?

11 A. We don't know. I think it's extremely low.

12 In my case, in twenty years working in
13 an institution where there are many pediatric and
14 adult procedures done on patients who have
15 papilledema, I'm currently aware of three that I can
16 remember,

17 There may have been others that have
18 occurred in this institution; and certainly there
19 are others, I'm sure, that have been referred to me
20 from other institutions, which I might or hopefully
21 could retrieve from my records if I were to look for
22 those cases.

23 Q. Do you know, of any of the reported cases
24 where there was visual loss after decompression, if

1 any of those cases were in a patient who did not
2 have preexisting chronic papilledema?

3 MS. CARULAS: I'm just going to object.
4 You're talking about in the literature, or in his
5 experience?

6 THE WITNESS: I think she meant the
7 literature.

8 MS. CARULAS: Okay.

9 A. Right?

10 Q. The cases that you're aware of that have
11 been reported in the literature.

12 MS. CARULAS: Okay.

13 A. The reason they're reported in the
14 literature was because they were associated with
15 papilledema.

16 I'm sure there were cases of visual loss
17 after surgery; but the issue of papilledema
18 essentially decompensating after surgery is what I'm
19 recalling from the literature. In other words,
20 we're referring to literature about those cases that
21 did have papilledema who then went on to lose vision
22 after surgery.

23 So it's difficult for me to answer your
24 question. Were there patients who had increased

1 pressure who then lost vision after surgery? Yes,
2 but that's not the literature to which I'm
3 referring.

4 Q. Well, I'm asking if you're aware of any
5 reported cases where there was vision loss after a
6 decompression surgery, as you described, where the
7 individual did not have a chronic papilledema prior
8 to the decompression surgery. And if you don't know
9 of any such reported cases, that's fine.

10 A. No, I don't know.

11 Q. Would you agree that vision loss from
12 chronic papilledema is far more common than the
13 scenario that you've just described with visual loss
14 after a decompression-type surgery?

15 A. Yes.

16 Q. Doctor, you have several pages of notes that
17 I believe you generated in this case; correct?

18 A. Yes.

19 Q. And I believe we've marked those as
20 Plaintiffs' Exhibit 7 and Plaintiffs' Exhibit 10. I
21 would ask, since the writing is a little difficult
22 for me to read, if you could take Plaintiffs'
23 Exhibit 7 and just read through what you have
24 written here.

1 MS. CARULAS: Just for the record, I'm
2 just going to put an objection to this, and him
3 reading it, for future reference. Go ahead.

4 A. At the top it says, Seven-year-old, and --
5 you want me to read everything on this?

6 Q. Yes.

7 A. Chris, then I have a 1-21-97. It says,
8 Luciano, consult for Doctor, and I have a question
9 mark, Levy, L-e-v-y. Below that I think it says,
10 fever, swelling right eye, arrow, ptosis, CAT cyst
11 post fossa.

12 Then the next is the date, 12-17-97,
13 fenestration of arachnoid cyst, parentheses,
14 question had URI, end parentheses.

15 Below that, 1-22-98, Luciano, headache
16 times two weeks, double vision, EOMs intact. CT,
17 MRI two months.

18 I'm reading the abbreviations out so you
19 understand it.

20 1-26-98, phoned, severe headache.

21 2-10-98, Luciano, headaches persist,
22 rule out papilledema, complained of double vision,
23 CT without change, Diamox.

24 Below that, I put MRI, claustrophobic.

1 Follow-up by phone.

2 Do you want me to read it as on the
3 page; or can I put things in chronological order,
4 which is indicated by the arrows?

5 Q. Whatever is easiest for you, Doctor.

6 A. Below that is 2-11-98, and then nearby it
7 says, Ref; referred to. And I put question, exam
8 date, 2-9-98. And below that, letter, Marcotty,
9 ophthalmologist.

10 20125, 20/30 papilledema, follow-up six
11 weeks. Quote, "I have discussed this with Dr. L.,
12 and he will be seeing him on 2-10."

13 Then an arrow below that goes, No show.

14 The next entry would be 4-7-98, Luciano,
15 continues severe headache and double vision.

16 And then an arrow down to 4-14-98,
17 Confrontation visual fields, normal by Bruce Cohen,
18 papi11edema.

19 Then 4-15-98, Cyst to peritoneal shunt.
20 Below that, quote, "Pupils normal, EOM intact,
21 visual fields grossly full."

22 Below that, Post-op pupils normal
23 repeatedly.

24 Then 7-14-98, Humphrey visual fields,

1 bilateral central scotomas.

2 And 7-22-98, Kosmorsky, 22/20, which
3 means twenty-twenty; it's vision. Twenty-twenty
4 counting fingers, and I'm referring to the left eye.

5 After pupillary defect, 4-plus. Optic
6 atrophy both eyes. Goldman visual fields. Question
7 mark, normal, right eye: central scotoma, left eye.

8 Then also notes below, Major problems in
9 school related to increased intracranial pressure,
10 headache, decreased appetite.

11 Below that, I put Pediatrician, no eye
12 exams.

13 And then it says here, Luciano is a
14 neurosurgeon.

15 Q. Now, what I've referred to, Exhibit 10, is
16 this a log of the time that you've put in on this
17 case?

18 A. Yes.

19 Q. I think we can make that out ourselves.

20 Now, Doctor, do you do surgical
21 procedures in your practice as a neuro-
22 ophthalmologist?

23 A. Yes.

24 Q. And how often are you in surgery during the

1 week? How many surgical procedures do you do?

2 A. Hardly ever anymore.

3 Q. Can you give me an estimate per month or per
4 year?

5 A. I can tell you what I do. I used to do
6 strabismus surgery for patients who had crossed eyes
7 from neurologic disease, but I no longer do that.

8 My surgical activities are now limited
9 to temporal-artery biopsies, which I do once or
10 twice a month, and optic-nerve-sheath decompression,
11 which I do two or three times a year; and I am
12 hoping to convince my orbital surgeon to take over
13 as soon as possible, which we're doing together
14 anyway.

15 Q. Tell me what papilledema is.

16 A. Papilledema, strictly speaking, refers to
17 swelling or edema of the intraocular portion of the
18 optic nerve, which has a bumpy or papillary
19 appearance normally. However, the term
20 "papilledema" has come to be reserved for swelling
21 of the optic nerve head due to increased
22 intracranial pressure.

23 Q. And when you use the word "papilledema," is
24 that what you refer to, papilledema as a result of

1 increased intracranial pressure?

2 A. Yes; and ■ refer to other forms of swelling
3 of the optic nerve head as swelling of the optic
4 nerve head, due to whatever cause, inflammatory,
5 ischemic or otherwise.

6 Q. And in the course of your practice, do you
7 see patients with papilledema?

8 A. Yes.

9 Q. Approximately how often do you see patients
10 for papilledema? In a week's time, how many do you
11 see, or, if it's easier, in a month's time?

12 A. Oh, five patients per week.

13 Q. How many pediatric cases of papilledema do
14 you see in a week's time or a month's time?

15 A. One or two a month.

16 Q. When papilledema is present, is it usually
17 bilateral?

18 A. Yes.

19 Q. And have you had patients referred to you by
20 neurosurgeons specifically for evaluation of
21 papi11edema?

22 A. Yes.

23 Q. Have you co-managed patients with a
24 neurosurgeon specifically because a patient has had

1 papi11edema?

2 A. Yes.

3 Q. How is papilledema diagnosed?

4 A. Primarily with an ophthalmoscope.

5 Q. You do a funduscopy exam, and examine the
6 internal structures of the eye?

7 A. That's correct.

8 Q. Now, in the course of your practice, do you
9 do funduscopy exams on children to evaluate and
10 monitor papi11edema?

11 A. Yes, ■ do.

12 Q. Have you done it on children as young as
13 seven years of age?

14 A. Yes.

15 Q. How many times have you done that, do you
16 think? And if it's easier in a month or a year's
17 time, how often would you do an exam for papilledema
18 on a child as young as seven?

19 A. Whenever ■ see them.

20 MS. CARULAS: If you're able to give her
21 a number.

22 A. I'm sorry; if the patient has --

23 Q. I'm just asking, how often do you do exams
24 for papilledema on children as young as seven? And

1 whatever time period - -

2 A. If there's a suspicion of papilledema in a
3 child under seven, that might occur in my practice
4 once a week, or more often. It's very common; to
5 rule it out.

6 Q. As you look at the internal structures of
7 the eye, how do you determine if papilledema is
8 present or if it's absent? What are you looking at?

9 A. We look at the optic nerve head, which is
10 the intraocular portion of the optic nerve, to see
11 if it is elevated, if the edges of the nerve which
12 are normally sharp are blurred, to see if the small
13 blood vessels in and around the nerve are congested,
14 to see if the main vein that exits with the nerve is
15 pulsating or not.

16 Those are the main features we're
17 concerned about.

18 Q. And when you evaluate a patient for
19 papilledema, is it possible to make a determination
20 of the severity of the papilledema?

21 A. Yes.

22 Q. Do you use any type of a system to grade the
23 severity? What do you use?

24 A. There are many systems.

1 I tend to rely more on a drawing, or
2 better yet a photograph, and currently optical
3 coherence tomography, as a specific measure of the
4 actual degree of swelling that we're observing,
5 rather than apply an artificial grading scale.

6 I tend to use terms like "mild,"
7 "moderate" and "severe"; and also, I attempt to
8 determine if it is chronic or subacute, or I would
9 say recent in onset, as best I can.

10 Q. What is chronic papilledema? How do you
11 determine if it's chronic papilledema?

12 A. Chronic papilledema tends to have less in
13 the way of vascular congestion, and in particular
14 breakdown of blood vessels with subsequent
15 hemorrhage.

16 The swelling tends to be more fluffy.
17 The nerve fiber layer swelling tends to be more
18 distinct in more acute papilledema; whereas in
19 chronic papilledema the nerve starts to take on a
20 more hardened appearance with more scarring, or what
21 we would refer to as gliosis.

22 Q. Does the time period that a person has the
23 papilledema have anything to do with whether you
24 term it chronic or subacute?

1 A. Yes. What we're doing when we're looking in
2 is guessing as best we can as to how long the
3 papilledema may have been present; so that when one
4 refers to the term "chronic papilledema," we're
5 referring to papilledema that's been present for
6 months, as opposed to weeks or days.

7 Q. Now, Doctor, in the course of your practice,
8 have you had patients who have suffered vision loss
9 as a result of chronic papilledema?

10 A. Yes, I have.

11 Q. Have you had any patients that have had
12 normal visual fields prior to an arachnoid-cyst
13 fenestration who developed increased intracranial
14 pressure, papilledema, and then loss of visual
15 fields after fenestration?

16 A. No; not exactly that type of case, no.

17 Q. When there is a finding of papilledema, is
18 that cause for concern in a patient?

19 A. Yes.

20 Q. And is that because of how you defined
21 papilledema, as being a sign of increased
22 intracranial pressure?

23 A. Yes.

24 Q. Are there any complications associated with

1 papi11edema?

2 A. Yes.

3 Q. What are the complications?

4 A. The main concern we have is with potential
5 for loss of vision.

6 Of course, our first concern is to the
7 cause of papilledema; but with regard to the
8 papilledema itself and how that may affect vision,
9 we are concerned that it may be associated with
10 effects on central vision with regard to hemorrhage,
11 extension of the edema into the retina and under the
12 retina.

13 Ultimately, we are concerned about
14 progressive loss of the nerve fibers that make up
15 the retina, and which become swollen themselves when
16 there is papilledema.

17 Q. What is optic atrophy?

18 A. Atrophy refers to shrinkage and degeneration
19 of tissue; and with regard to the optic nerve, we
20 are referring to the nerve fibers primarily becoming
21 shrunken and dysfunctional.

22 Q. And isn't it true that one of the ways that
23 papilledema results in vision loss is that it leads
24 to optic atrophy?

1 A. Correct.

2 Q. Isn't the risk for optic atrophy and vision
3 loss greater in a patient with persistent or chronic
4 papilledema, as compared to somebody that has acute
5 or subacute?

6 A. That's correct.

7 Q. Would you agree that optic atrophy generally
8 occurs over a period of time, usually months, rather
9 than something that happens suddenly over a few
10 hours or a few days?

11 A. That's correct.

12 Q. Now, when optic atrophy occurs, are
13 there visual changes you can see when you do the
14 funduscopy exam in structures of the eye? Can you
15 see optic atrophy on examination?

16 A. Yes.

17 Q. And are there progressive changes that can
18 be seen in a disc as the optic atrophy progresses?

19 A. Yes.

20 Q. Now, when a patient has papilledema present,
21 are you able to see signs of optic atrophy when you
22 do a funduscopy exam?

23 A. Yes. First we can observe the fibers of
24 nerves themselves, the bundles of fibers,

1 disappearing.

2 And there is a transition point between
3 swelling, the development of more chronic-appearing,
4 as I mentioned earlier, that sort of hardened
5 effect, and then ultimate shrinkage of the issues
6 that make up the optic nerve, that then becomes what
7 we refer to as atrophy.

8 During this transition period, sometimes
9 it is difficult to assess how much atrophy is
10 occurring; because you have swelling and shrinkage
11 occurring in the same tissue.

12 Q. So sometimes the papilledema may obscure
13 some of the things as far as observation on a
14 funduscopy exam; once the papilledema resolves, you
15 may be able to see more or better as to the
16 condition of the optic nerve?

17 A. I would say the appearance changes. I'm not
18 sure one sees the condition; it changes into a
19 different form.

20 Q. Let me ask a different question, because my
21 question probably wasn't very good.

22 Does papilledema sometimes obscure the
23 optic nerve so that you can't get a good look at it
24 to determine whether there's atrophy there or not,

1 in some cases?

2 A. I know what you're thinking.

3 One way, maybe, I could answer your
4 question is to address it this way. If one looks
5 simply at the optic nerve head in a patient with
6 papilledema and attempts to judge from that
7 appearance what the function of the eye will be,
8 that may be difficult.

9 That's not because of a problem of
10 visibility as such; it's more a problem of
11 estimating the change in function, given the
12 appearance of the nerve head.

13 Looking at the nerve fiber layer of the
14 retina may be more predictable, but it's something
15 that's relatively new, and it takes a lot of
16 experience to do, and is one of the reasons I'm
17 pursuing the research with optical coherence
18 tomography. It's difficult to make that
19 distinction.

20 Q. Doctor, when you're doing a funduscopy exam
21 and you see optic atrophy, are you able to judge
22 what limitations that patients will have in their
23 vision based on what you see when you do the
24 funduscopy exam?

1 A. One can make some general judgments; but
2 it's certainly difficult to be accurate about
3 judging how vision will be affected by simply
4 looking at a nerve.

5 Q. So there are other tests that can be done to
6 make that determination?

7 A. Yes.

8 Q. Would those other tests include formal
9 visual field testing and acuity testing?

10 A. Correct.

11 Q. When vision is lost as a result of chronic
12 papilledema, what portion of the vision is lost
13 first?

14 A. Usually it's the peripheral vision. Usually
15 it's the portion lower in the visual field and
16 closer to the nose; and the visual field generally
17 constricts from that portion, and then the
18 peripheral portions of the visual field tend to be
19 affected in other quadrants progressively after
20 that.

21 Q. And is the vision loss associated with
22 papi11edema a progressive type of vision loss that
23 starts out, and then may become a little more
24 severe?

1 A. In most cases, yes.

2 Q. Does the risk for optic-nerve damage
3 increase with the duration of the papilledema?

4 A. Yes.

5 Q. And when there's a high level of concern for
6 increased intracranial pressure, and papilledema has
7 been initially visualized on a funduscopy exam,
8 what type of evaluation would be done by a
9 neuro-ophthalmologist on referral?

10 MS. CARULAS: Just note my objection.
11 Go ahead.

12 A. A neuro-ophthalmologist would measure
13 acuity; might check color vision, would check the
14 pupillary reactions -- well, would do a complete
15 exam; but concentrating on what we're discussing
16 today, and examine the optic nerve head and then
17 obtain some sort of visual field.

18 Q. And by "some sort of visual field," would
19 that usually be a formal visual field?

20 A. Whatever visual field provides the best
21 information. It depends on the age of the patient,
22 and the ability of the patient to perform one of any
23 number of visual-field tests that we can offer them.

24 Q. And if the patient is cooperative, is your

1 preference that the patient would have formal
2 visual-field testing?

3 A. My preference is to use an automated
4 perimeter to obtain visual fields whenever possible.

5 Q. Now, would you explain what an automated
6 perimeter testing of visual fields involves?

7 A. Yes. It's a very demanding, tedious test,
8 where the patient looks in a white bowl which has a
9 steady state of illumination. They fixate on a
10 central spot; and depending on the program that is
11 used, it indicates whether or not they see lights of
12 varying intensity and varying points in their visual
13 field by pushing a button.

14 The computer then records those
15 responses, and provides a general map of the field
16 of vision tested with respect to whether or not they
17 see lights of different intensities in those areas.

18 Q. Now, Doctor, when there's a high concern
19 in a particular patient for increased intracranial
20 pressure, and there's papilledema present, and you
21 do the evaluation of the patient, isn't one of the
22 things that you're trying to do to establish a
23 baseline for that patient, find out what their
24 baseline visual fields are, find out what their

1 baseline visual acuity is; those types of things?

2 A. Yes.

3 Q. And that's in order to determine, if the
4 condition of papilledema continues, if there's going
5 to be any change in that baseline; correct?

6 A. Correct.

7 Q. Would you agree that a patient with
8, persistent papilledema should receive a thorough
9 ophthalmologic exam that includes serial testing?
10 In other words, they should be followed with serial
11 testing of visual fields?

12 MS. CARULAS: Just note my objection.
13 Go ahead.

14 A. It depends on the situation.

15 Q. I want to change my question a little bit.

16 A patient with persistent papilledema,
17 would you agree that they should be followed with
18 serial visual-field testing at least until it's
19 established that the patient's vision is stabilized?

20 MS. CARULAS: Just note my objection. I
21 think you cut him off before, too; but you may
22 answer her question.

23 MS. TOSTI: Well, I changed my question,
24 though.

1 A. Again, it depends on the situation.

2 Ideally, one would follow the patient as long as
3 there is papilledema, and then perhaps yearly after
4 that for a routine evaluation. I think it depends
5 on the cause of the papilledema, again, the actual
6 duration.

7 In fact, I think the cause of the
8 papilledema probably would make a big difference at
9 least with regard to the frequency of the evaluation
10 and the thoroughness of the evaluation; and of
11 course the age of the patient plays a role,
12 cooperation of the patient, et cetera.

13 So it's hard to generalize.

14 Q. Well, would you agree that you would want to
15 follow them at least until you had enough data to
16 determine that the patient's vision was stabilized?

17 MS. CARULAS: Note my objection. Go
18 ahead.

19 A. At least until the problem is resolved.

20 In other words, if it's a brain tumor
21 that's causing the problem, and the brain tumor is
22 repaired, then one wouldn't need to follow the
23 patient frequently; although I do recommend yearly
24 eye exams for those who have had any eye problem in

1 the past, including papilledema.

2 I hope I'm answering your question.

3 Q. If a patient has continuing signs and
4 symptoms of increased intracranial pressure, would
5 that be a patient that you would want to continue to
6 follow with serial evaluation to determine that the
7 patient's vision was stabilized?

8 MS. CARULAS: Objection; asked and
9 answered. Go ahead.

10 A. Ideally, yes. I'm not sure it's entirely
11 necessary if the problem is recognized and being
12 dealt with.

13 Q. Isn't it true that serial formal visual-
14 field testing is the best way to determine whether a
15 person is having progressive visual-field loss from
16 persistent or chronic papilledema?

17 A. Yes.

18 Q. And have you done serial visual-field
19 testing when you're monitoring a patient with
20 persistent or chronic papilledema?

21 MS. CARULAS: Just note my objection.
22 Go ahead.

23 A. Yes.

24 Q. Doctor, how do you determine if a patient's

1 papilledema has stabilized?

2 A. For the most part, **it** is done by
3 observation. **I**f available, one can use serial
4 fundus photography to get an accurate picture of the
5 nature and degree of swelling that might be present.

6 One can then go another step and get
7 red-free photography; and then we're hoping to
8 measure **it** with OCT.

9 In general, in most practices, **it** would
10 be done by examining the fundus of the eye.

11 Q. Would one measure to determine **if** vision is
12 stable be by doing visual-field testing and looking
13 to see **if** there have been any changes in the visual
14 **f**ields?

15 A. That would be the aspect of function
16 associated with the papi11edema.

17 For that purpose, visual fields are
18 important; as are visual-acuity testing and the
19 other measures of visual function we employ.

20 Q. Doctor, have you done formal visual-field
21 testing on children as young as seven years of age?

22 A. Yes.

23 Q. Are there any modifications you make in the
24 testing procedure when you're testing a child of

1 that age range?

2 A. We initially attempt to use automated
3 perimetry, encouraging the child. It's like playing
4 a computer game that lasts for a short period of
5 time. Many children do very well.

6 If that's not successful, if the child
7 cannot keep their eyes still or their body still, we
8 then might turn to Goldman perimetry, which is then
9 done by an operator.

10 There, a technician will move and direct
11 the light, in this case usually of different sizes,
12 in different areas of the visual field, while the
13 patient stares into a bowl and attempts to keep
14 their eyes steady, which many children this age have
15 difficulty doing.

16 Then, if that isn't successful, we could
17 employ a tangent screen, which I personally don't
18 use very often in children. I use it a lot in
19 adults, but not in children.

20 And then, one might confirm, or have to
21 rely on confrontation visual fields.

22 So again, it depends on the child, and
23 whether or not they're performing with the different
24 tests, which one will be the most useful.

1 Q. So you at least initially try to use the
2 automated perimetry?

3 A. Yes.

4 Q. And then utilize the other ones, or attempt
5 the other ones, if you were unsuccessful with the
6 automated perimetry?

7 A. Yes. We can use the automated on seven-
8 year-olds.

9 Q. Isn't it true that, when there's a gradual
10 vision loss in one eye, the vision loss may not be
11 recognized by the patient until it's fairly well
12 advanced?

13 A. That can happen, yes.

14 Q. And you would agree that it wouldn't be
15 unusual for a child to be unaware of gradual vision
16 loss that occurs predominantly in one eye; correct?

17 A. That's correct.

18 Q. Now, Doctor, what is confrontational visual-
19 field testing?

20 A. I prefer the term "confrontation" visual
21 field testing.

22 Q. Okay.

23 A. But the term you use is more often used by
24 others; by most.

1 It is a method by which we use our hands
2 or different objects, sitting face to face with a
3 patient, asking them to identify when they see
4 whatever object we're employing, whether it's the
5 examiner's hands, fingers, or an object the examiner
6 is holding.

7 Q. And in your experience, how does the
8 reliability of confrontation visual fields done
9 by a non-ophthalmology specialist compare to the
10 reliability of formal visual-field testing done
11 under the direction of an ophthalmologist or a
12 neuro-ophthalmologist?

13 MS. CARULAS: Note my objection. Go
14 ahead.

15 A. Again, it depends; but in general, the
16 automated visual fields, if they are reliable, are
17 more sensitive and accurate than confrontation
18 visual fields.

19 Q. Would you agree that the error rate for
20 confrontational visual fields is much greater than
21 for formal visual-field testing?

22 MS. CARULAS: You didn't learn your
23 lesson. Confrontation.

24 A. It depends on the situation; but in general,

1 yes.

2 Q. Have you on occasion been asked to do an
3 evaluation on a patient before they have undergone a
4 cyst fenestration specifically to determine if the
5 patient had papilledema present, and to determine a
6 baseline for visual fields? Have you done that?

7 MS. CARULAS: Objection.

8 A. I'm sure I have, but I cannot remember.

9 Q. And have you in your practice followed
10 patients after a cyst fenestration to evaluate for
11 papilledema and to determine if visual fields are
12 stable?

13 MS. CARULAS: Objection.

14 A. I'm sure I have, but I can't remember a
15 specific case.

16 Q. How is chronic papilledema treated?

17 A. First of all, one treats the cause of the
18 papilledema. If it's a tumor or a cyst, one treats
19 the tumor or the cyst.

20 If it's of unknown cause, such as in the
21 case of idiopathic intracranial hypertension, one
22 will again treat what might be an underlying cause,
23 such as obesity, or medications which are known to
24 cause this, and at the same time employ medication

1 to lower intracranial pressure, and if necessary
2 employ surgical procedures to manage the pressure if
3 it cannot be managed medically.

4 Q. Is one of the medications you're talking
5 about Diamox?

6 A. Yes.

7 Q. And is one of the surgical procedures you're
8 talking about an optic-nerve sheath fenestration?

9 A. Yes.

10 Q. Doctor, would you agree that when a patient
11 is found to have papilledema, the patient should be
12 followed to determine if the papilledema is
13 resolving or whether it is persisting?

14 A. Ideally, yes.

15 Q. Would you agree that, when a patient is
16 being treated for increased intracranial pressure,
17 and has papilledema, and is being treated with
18 Diamox, that it's important to continue monitoring
19 the papilledema to see if the treatment is resolving
20 the problem?

21 A. Yes; in a chronic situation where the
22 primary problem is not being managed, yes.

23 Q. Would you agree that, if a patient with
24 newly diagnosed papilledema is placed on Diamox to

1 reduce the intracranial pressure or treat the
2 papilledema, the patient should be followed with
3 funduscopy examinations to determine if the
4 papilledema is getting better, remaining stable, or
5 becoming worse?

6 MS. CARULAS: Note my objection.

7 A. Yes.

8 Q. Now, if a patient has a persistent
9 papilledema, should the patient be evaluated and
10 followed by an ophthalmology specialist?

11 A. Yes.

12 Q. And would you agree that if a patient has
13 persistent papilledema, the patient should be
14 followed closely for signs of optic atrophy and
15 visual-field loss?

16 MS. CARULAS: Note my objection. Go
17 ahead.

18 A. Again, it depends on the underlying problem,
19 and I'm not exactly sure what you mean by "closely";
20 but the patient should be followed, ideally followed
21 by an ophthalmologist.

22 Q. Now, when papilledema is caused by increased
23 intracranial pressure, does it always go away when
24 the increased intracranial pressure is reduced?

1 A. I'd never say always, but **it** should; and **if**
2 **it** doesn't, then one should look for another cause
3 for the abnormality in the optic nerve head that may
4 resemble papilledema, or could still be papilledema.

5 Q. If the increased intracranial pressure is
6 relieved and the papilledema remains, can the optic
7 nerve still be in jeopardy in some instances?

8 A. Again, one would have to reconsider what's
9 going on, **if** that's the case.

10 That's my answer.

11 Q. In most cases, **if** the intracranial pressure
12 is relieved, but the papilledema persists, in most
13 cases, would the optic nerve no longer be in
14 jeopardy of injury?

15 A. I think, **if** the papilledema doesn't go away
16 after the pressure is relieved, then there's
17 something wrong. One would have to reconsider
18 what's happening here.

19 The optic nerve may retain some
20 appearance of swelling, but that might be due to
21 scarring. **If** the papilledema has been present for a
22 long, long time and you relieve the pressure, **it** may
23 still have an appearance of swelling and
24 irregularity.

1 But the nerve in most cases should
2 return ideally to normal, or usually what happens,
3 to a partially atrophic state.

4 Q. Most of the time, if you release the
5 intracranial pressure, the papilledema will resolve
6 over a period of time?

7 A. That's correct.

8 Q. Doctor, tell me what an optic-nerve sheath
9 fenestration is.

10 A. One typically will open the filmy surface
11 layer of the eyeball called the conjunctiva in the
12 area near the nose; after that, temporarily remove
13 the muscle that pulls the eye inward; rotate the eye
14 outward; expose the optic nerve; and then usually
15 penetrate the optic-nerve sheath at the sleeve of
16 the nerve with a small knife.

17 Then, by a variety of techniques, extend
18 that incision, and then attempt to make one or more
19 other incisions, or perhaps an actual window is made
20 in the sleeve around the nerve to allow for spinal
21 fluid to drain into the space behind the eyeball,
22 and therefore relieve the pressure that is being
23 exerted on the nerve by that increased spinal-fluid
24 pressure.

1 Q. So would the indication for an optic-nerve
2 sheath fenestration be papilledema in the presence
3 of increased intracranial pressure?

4 A. Well, it's very general. It depends on the
5 situation.

6 Q. Is that one of the indications?

7 A. Yes.

8 Q. That's an option that might be used in
9 treatment?

10 A. Yes; that's correct.

11 Q. What is the objective? What is it you're
12 trying to accomplish by doing an optic-nerve sheath
13 fenestration?

14 A. The objective is to relieve the pressure on
15 the optic nerve that is putting that nerve at risk
16 for further or progressive damage, and hopefully at
17 the same time relieve pressure in the opposite side,
18 which does occur in some cases, but not to deal with
19 the underlying source of the problem or the cause of
20 the problem.

21 Q. So would it be fair to say that it is a
22 rescue procedure for the optic nerve, without
23 treating whatever the underlying cause is?

24 A. You could put it in those terms.

1 Q. Now, Doctor, what is a third-nerve palsy?

2 A. It would refer to dysfunction of those
3 muscles subserved by the third cranial nerve, which
4 would include the muscle that elevates the eyelid,
5 the muscles that turn the eye inward, upward and
6 downward, and also those muscles which allow the
7 pupil to constrict.

8 Q. And what are the signs and symptoms of a
9 third-nerve palsy?

10 A. A drooping eyelid and double vision.

11 Q. Do you have an opinion as to whether Kevin
12 Kiss had a third-nerve palsy prior to his cyst-
13 fenestration surgery?

14 A. I do know that he had a slight degree of
15 drooping of the right upper eyelid; but other than
16 that, there was no distinct evidence that he had
17 more than that as evidence for third-nerve palsy.

18 Q. In your opinion, did Kevin have, based on
19 what you've seen in the records, a third-nerve
20 palsy?

21 A. He might have had a mild third-nerve palsy,
22 but I cannot make that diagnosis.

23 Q. And aside from the drooping lid, did he have
24 any other symptoms that would be consistent with

1 third-nerve palsy?

2 A. Not that I recall.

3 Q. Now, Kevin underwent an arachnoid cyst
4 fenestration in December of '97. Do you have an
5 opinion if that procedure was warranted in his case?

6 MS. CARULAS: Just note my objection.

7 A. Again, I'm not an expert in neurosurgery.
8 From the appearance of the scan, the cyst was rather
9 large; and it's my opinion that the surgery was
10 appropriate.

11 Q. Do you have an opinion as to whether Kevin
12 should have had a complete ophthalmologic evaluation
13 before surgery to determine if he had papilledema,
14 and to determine the status of his visual fields?

15 MS. CARULAS: Again, objection as to
16 standard of care for a neurosurgeon.

17 A. Yes. I'm not a neurosurgeon; but it is
18 apparent that he had a cyst that needed treatment,
19 and that there was no clear indication that he was
20 having visual difficulties or needed any additional
21 evaluation before he had the surgery that was
22 performed in December.

23 Q. So from your perspective, there was no
24 indication for him to have a complete ophthalmologic

1 examination that included visual-field testing and a
2 check for papilledema; correct?

3 A. Yes. I don't think that there was any clear
4 indication that was necessary.

5 Q. And you didn't find any indication in the
6 record that anybody did any evaluation of Kevin's
7 vision prior to surgery, did you?

8 A. That's correct.

9 Q. Do you have an opinion as to when Kevin
10 first developed papilledema?

11 A. No, I cannot state or say when it might have
12 begun. I can only indicate when it was recognized.

13 Q. Now, you would agree that Kevin's symptoms
14 of headache and visual disturbances and irritability
15 that were reported in January were likely due to
16 increased intracranial pressure; correct?

17 A. Correct.

18 Q. Isn't it likely that the papilledema
19 discovered by Dr. Marcotty in early February was
20 present at the time that Kevin's other symptoms of
21 increased intracranial pressure began?

22 A. I can't determine that, because one can have
23 significantly increased intracranial pressure
24 without papilledema,

1 Because the papilledema was relatively
2 mild by the time Dr. Marcotty recognized it in
3 February, it could have been present, but it may not
4 have been.

5 Q. What is your source for saying that the
6 papilledema was mild in February?

7 A. In his note, it's not clearly stated as
8 such. I believe it may be in his deposition. I
9 certainly can confirm that with, I want to make
10 sure, with Ms. --

11 MS. CARULAS: My name is Carulas.

12 This can be off the record.

13 (Discussion off the record)

14 Q. Doctor, would you agree that, once the
15 papilledema was noted by Dr. Marcotty on February 9,
16 the papilledema should have been monitored by
17 funduscopy exams on each follow-up visit with
18 Dr. Luciano or by referral to an eye specialist for
19 such monitoring?

20 MS. CARULAS: Note my objection.

21 A. I believe he was already being seen by an
22 eye specialist, Dr. Marcotty; and Dr. Marcotty, to
23 my knowledge, had scheduled to see the patient in
24 follow-up.

1 So essentially it was being monitored,
2 or at least there was an intention to monitor this
3 by Dr. Marcotty, and therefore there was no reason
4 to refer him to another ophthalmologist.

5 Q. Did you also read in the depositions as to
6 why Kevin did not receive follow-up with
7 Dr. Marcotty?

8 A. My understanding is that he did have an
9 appointment, but did not show up for that
10 appointment.

11 Q. And what is your understanding as to why he
12 didn't show up for that appointment?

13 A. That, I cannot recall.

14 Q. And you weren't provided with Mrs. Kiss's
15 deposition as to her explanation; correct?

16 A. I don't think so.

17 Q. And do you recall reading the note from
18 Dr. Kosmorsky that says that Kevin and his mother
19 were never told to follow up with Dr. Marcotty? Do
20 you recall reading that in the clinical notes of
21 Dr. Kosmorsky?

22 MS. CARULAS: Objection.

23 A. No, but I'm sure it's there.

24 Q. Would you agree, though, that after February

1 9, when Dr. Marcotty noted the papilledema, Kevin
2 should have been monitored by funduscopy exams to
3 see what was going on with the papilledema as to
4 whether it was remaining stable, becoming more
5 severe, or resolving?

6 MS. CARULAS: Note my objection.

7 I think he just previously answered that
8 the patient was scheduled for an ophthalmologic
9 examination.

10 MS. TOSTI: No; I asked him if the
11 patient should continue to be monitored with
12 funduscopy exams, knowing that he had papilledema
13 on February 9.

14 A. Well, I would go back to what I've said
15 before. I think the most important thing would be
16 to attempt to control the problem, to manage the
17 problem.

18 Yes, one would monitor that; but the
19 main thing would be to try to treat the problem.
20 And so yes, ideally that's appropriate; but at the
21 same time, one is going to try to manage the
22 problem.

23 So I think the emphasis on monitoring is
24 well and good; but in this kind of situation, you

1 know what the problem is, and you treat it as best
2 you can.

3 Q. What is your understanding as to why Kevin
4 was placed on Diamox in February of '98?

5 A. My understanding is that Dr. Marcotty told
6 Dr. Luciano that there was papilledema. Dr. Luciano
7 then prescribed the Diamox to lower the intracranial
8 pressure medically before attempting additional
9 surgery.

10 Q. Would you agree that, when the papilledema
11 was discovered by Dr. Marcotty in early February,
12 Kevin should have been referred for formal visual-
13 field testing in order to determine a baseline to
14 see if his optic-nerve function was affected?

15 MS. CARULAS: Note my objection.

16 A. I think that's something one would leave up
17 to Dr. Marcotty's judgment.

18 I think most neurosurgeons would rely on
19 the ophthalmologist to determine how to monitor the
20 papilledema, and to do what would be most
21 appropriate in a situation to deal with the
22 neurosurgical problem.

23 Q. From your perspective as a neuro-
24 ophthalmologist in this case, with Kevin having

1 papilledema discovered after his cyst fenestration,
2 do you feel that he should have had visual-field
3 testing at that point in time, in February?

4 MS. CARULAS: Objection.

5 A. I think that would be nice; but this is a
6 situation where you have an ongoing well-defined
7 neurosurgical problem. It's being managed.

8 You can do visual fields, and I probably
9 would; and ideally the patient's being managed,
10 things are being treated, and there is very likely
11 going to be a resolution of the problem in a fairly
12 short period of time.

13 So it's not like we're dealing with a
14 chronic, longstanding condition that is unlikely to
15 have a solution.

16 So that in this type of situation, the
17 ophthalmologist makes the neurosurgeon aware that
18 there's papilledema. The neurosurgeon does the best
19 they can to manage the problem, if it's well-
20 defined.

21 So getting visual fields is certainly
22 helpful; but it's not usually done, and I think it
23 wouldn't have altered the outcome in this case.

24 Q. Doctor, would you agree that once Kevin was

1 noted to have the disc edema in February, and he was
2 placed on Diamox, he should have been followed by an
3 ophthalmology specialist to determine if the trial
4 of Diamox was relieving the papilledema?

5 MS. CARULAS: Note my objection; asked
6 and answered. Go ahead.

7 A. There's more going on than just papilledema.
8 The child is having headaches; there's a cyst; I
9 think it's clear this child is probably going to end
10 up needing surgery.

11 It's my understanding that there
12 was a plan on Dr. Luciano's part to have the child
13 followed by ophthalmology. There was a plan for
14 Dr. Marcotty to follow up, even though that may be
15 contested. My understanding was that was all very
16 appropriate, and that was being done.

17 Q. Did you find anywhere in any of the records
18 you reviewed that anybody looked in Kevin's eyes to
19 determine if the Diamox had any effect on reducing
20 the papilledema?

21 A. Before the --

22 Q. At any point when he was on Diamox.

23 A. I have to say I don't know if he was on
24 Diamox up until, for example, the 14th of April; but

1 I know that before the final decision was made to do
2 the second neurosurgical procedure, the child was
3 evaluated by Dr. Bruce Cohen.

4 Q. Kevin had papilledema documented by
5 Dr. Marcotty on February 9 of '98; correct?

6 A. Correct.

7 Q. And Kevin also had 3-plus papilledema
8 documented by Dr. Bruce Cohen on April 14, '98, just
9 before his shunt procedure; correct?

10 A. Right.

11 Q. And you would agree that there's no
12 basis to assume that the papilledema discovered by
13 Dr. Marcotty on February 9 improved or resolved
14 before Kevin's shunting procedure in mid-April;
15 correct?

16 A. No; it's clear that it was still present.

17 Q. Isn't it likely Kevin had chronic
18 papilledema after his cyst fenestration?

19 MS. CARULAS: Note my objection.

20 A. It's clear that he developed it by
21 February 11.

22 Q. By February 9, when Dr. Marcotty saw him?

23 A. Oh; by February 9? I cannot determine that
24 he had what you're referring to as chronic

1 papilledema before that time.

2 Q. He had papilledema for at least two months,
3 though; correct?

4 A. Two months and three days.

5 Q. And **it** was likely present before
6 Dr. Marcotty saw him on February 9; correct?

7 A. Yes. **It** takes some time for papilledema to
8 develop.

9 Q. Would you agree that, **if** Kevin had chronic
10 papilledema after his cyst fenestration, **it** would
11 place him at increased risk for vision loss?

12 MS. CARULAS: I'm just going to object
13 over --

14 A. I mean, in general, yes, You're referring
15 to chronic papilledema, and we're referring to a
16 child that's having a problem within a period of
17 month. Usually, when we're referring to chronic
18 papi1ledema, especial1y when you emphasize **it**, we're
19 talking about papilledema that's been present for
20 many months.

21 This child had a problem that began --
22 well, we don't know how long the cyst was present;
23 but that appears to have occurred, at least the
24 intracranial pressure, with regard to symptoms,

1 over a period from mid-January until April.

2 So we're talking about really weeks, a
3 few months. And so, chronic, I would say that it's
4 not chronic with an emphasis; it's papilledema
5 that's been present for weeks.

6 And, I'm not sure; that's more of a
7 subacute type of papilledema --

8 Q. Present for months.

9 A. -- than longstanding papilledema, that
10 causes vision loss.

11 Q. It was present for months, Doctor? Not
12 weeks; months?

13 A. Not many months.

14 Q. At least two months, and probably longer
15 than that?

16 A. Right. But when we're using the term
17 "chronic papilledema," when you emphasize it, it
18 sounds like we're talking about the type of patient
19 who's got pseudo-tumor cerebri and has had
20 papilledema for years, and I think we have to be
21 careful about that.

22 Yes, there's evidence that this
23 papilledema was present for months, two months,
24 eight weeks; not many, many, many months. And I

1 think I want to be clear about that.

2 When you are saying "chronic" with so
3 much emphasis, we're being clear that the evidence
4 here is that he's had papilledema for a relatively
5 short period of time with respect to papilledema in
6 general, especially papilledema that causes visual
7 loss.

8 Q. When Dr. Cohen saw Kevin on April 14, he
9 noted 3-plus papilledema. That's a significant
10 level of papilledema, isn't it, Doctor?

11 A. That's correct; but it has no bearing on
12 clinicity.

13 Q. That wasn't my question. I just asked you
14 if that was a significant level of papilledema.

15 A. Yes, it is.

16 Q. And he wrote in his clinical plan for an eye
17 consult.

18 A. Correct.

19 Q. And would you agree that, when Kevin was
20 diagnosed with 3-plus papilledema by Dr. Cohen, he
21 should have been referred for an eye evaluation as
22 soon as it could be arranged?

23 MS. CARULAS: Objection.

24 A. If it's possible. But if the child is going

1 to have the problem treated with surgery, one might
2 not necessarily wait for an eye exam or even get one
3 if the problem is being treated, especially in the
4 manner he was, which was to place a shunt.

5 Q. Did you find any indication that an eye
6 consult was ever ordered for Kevin after Dr. Cohen
7 found 3-plus papilledema just before the shunting
8 procedure?

9 A. There was no eye exam beyond what Dr. Cohen
10 did -- I believe that's Dr. Cohen -- between the
11 14th of April, when Dr. Cohen saw the patient on the
12 15th of April, and when the surgery was performed.

13 Q. And in fact, there was no referral for an
14 eye consult until Dr. Cohen saw Kevin again on June
15 9, several months after his surgery; correct?

16 A. Right. Well, at that point, it was clear
17 that the pressure was relieved, that the cyst had
18 been treated; so in most cases, the problem was
19 resolved, and the papilledema would be also
20 resolving. So most neurosurgeons 1 don't think
21 would necessarily order an eye consult.

22 Q. Given Kevin's documented papi11edema in
23 early February and his persistent symptoms of
24 increased intracranial pressure, as a neuro-

1 ophthalmologist, are you critical of the fact that
2 the first time formal visual-field testing was ever
3 done on Kevin was in July, three months after his
4 shunt surgery?

5 MS. CARULAS: Objection.

6 A. No, I'm not critical.

7 I mean, here's a child who's got
8 papilledema, it's clearly associated with increased
9 intracranial pressure from the cyst.

10 The cyst was treated with a shunt, the
11 symptoms resolved, and the child was apparently
12 doing fine until the visual problem was recognized;
13 and then he was evaluated by Dr. Kosmorsky.

14 Q. Doctor, isn't it likely that Kevin had early
15 signs of visual-field defects in February when he
16 saw Dr. Marcotty, because he was reporting visual
17 disturbances, he had the papilledema, and his visual
18 function was at 20/25 in his right eye and 20/30 in
19 his left eye?

20 MS. CARULAS: Objection.

21 A. No; there's no evidence that there was
22 visual-field loss at that time.

23 Q. Would it raise a suspicion in your mind that
24 there may have been the beginning of a visual-field

1 defect with those symptoms, the papilledema and the
2 visual acuity at 20/25 and 20/30?

3 MS. CARULAS: Objection.

4 A. As a neuro-ophthalmologist, of course, my
5 job as a neuro-ophthalmologist is to be very
6 thorough in an academic setting.

7 In this setting, I do not believe
8 that most ophthalmologists, or even pediatric
9 ophthalmologists, would necessarily have obtained a
10 visual field, especially in a situation where the
11 problem is defined and management is ongoing.

12 So ■ have no specific criticisms; and ■
13 certainly cannot say that there was substandard
14 care, especially on the part of Dr. Marcotty.

15 Is that what you're suggesting?

16 Q. ■ didn't imply that, no.

17 Do you have an opinion as to when Kevin
18 was likely to have begun vision loss?

19 A. Yes, ■ do.

20 Q. When was it?

21 A. ■ believe he lost vision after the
22 fenestration procedure on the 15th of April.

23 Q. And what is the basis for your opinion that
24 that's when he lost vision?

1 A. My basis is that his visual function,
2 particularly as assessed by Dr. Cohen, seemed to be
3 relatively intact.

4 It's also based on the fact that he
5 didn't complain of visual loss until later; and it's
6 also based on the nature of the visual loss that was
7 characterized, if you will, by Dr. Kosmorsky, and
8 subsequently others.

9 Q. Kevin never complained of his vision loss,
10 did he? Did you find that Kevin complained of the
11 vision loss?

12 A. Actually, I have to say I have lost track of
13 how it was recognized. I would have to refer to the
14 records on that basis.

15 Q. You referred to Dr. Cohen, and you said that
16 the visual function seemed relatively intact, What
17 are you referring to?

18 A. One of the things I'm judging by is
19 intactness of the pupillary responses. Once the
20 visual loss was recognized, it was clear that he had
21 a very profound afferent-pupillary defect.

22 This is something that I think would be
23 recognized with multiple observers, nurses, other
24 physicians who would have documented this

1 immediately after the surgery.

2 So that's an objective measure of optic-
3 nerve function that I think, had this been going on
4 before the surgery, would have been recognized by
5 Dr. Marcotty, by Dr. Cohen, by others.

6 Q. Do you get that when you have initial
7 vision-field loss from papilledema?

8 A. Well, again, it depends --

9 Q. In the early stages.

10 A. -- on the relative involvement of the two
11 eyes.

12 But I think that when the vision is so
13 profoundly affected in the left eye, especially when
14 central vision is affected, as it was in this case,
15 it's not typical of chronic papilledema, and is more
16 likely to be due to some unusual event that occurs
17 in rare patients with papilledema, and certainly
18 something that occurs after lowering of intracranial
19 pressure, as in this case.

20 Q. Now, you mentioned that the nature and the
21 character of Kevin's vision loss had to do with your
22 opinion that this occurred after the shunting
23 procedure. What, in regard to the nature and the
24 character, tells you that this --

1 A. Usually when there's chronic, as you say,
2 longstanding papilledema occurring over many months,
3 the peripheral vision is affected, and the central
4 vision is preserved -- this is especially true in
5 youngsters -- unless there's involvement in the
6 retina secondarily, which I don't think there's any
7 evidence for in this case. So it's a gradual change
8 in the peripheral vision.

9 In those cases that I've witnessed, and
10 cases in the literature, there seems to be some
11 vascular event that occurs in a minority, and
12 rarely, I would say, in patients with papilledema.

13 It can occur during the course of it;
14 but it seems to occur in these unusual children who
15 have pressure that's decompressed, and their nerves
16 seem to suddenly decompensate after the pressure is
17 relieved.

18 It may be that this is really a problem
19 of blood flow to the nerve and that somehow has
20 affected or shifted at the time of surgery.

21 In these cases, it's more often that
22 this resembles what we see in adults who have what
23 we call ischemic optic neuropathy, where there's a
24 central vision-field loss.

1 And that's my basis for considering that
2 this is the cause of this child's problem.

3 Q. Have you reviewed the formal visual-field
4 testing results done on Kevin after his shunting
5 procedure?

6 A. Yes.

7 Q. Would you agree that those results would be
8 consistent with visual loss resulting from chronic
9 papilledema?

10 A. Well, in particular, the visual field done
11 by Dr. Kosmorsky on the 22nd of July in the right
12 eye, peripheral vision appears to be relatively
13 intact.

14 And what impresses me the most is, in
15 the left eye, there's a central area of visual-field
16 loss, which is not what we usually see with chronic
17 papilledema until the very end.

18 Chronic papilledema, like glaucoma,
19 causes peripheral visual-field loss with marked
20 preservation of central vision until the peripheral
21 vision is severely constricted,

22 Q. And once the vision is severely restricted,
23 can you have a visual-field result that looks like
24 what Kevin had?

1 A. It's unusual. Usually it's a tiny little
2 keyhole through which the patient can see almost
3 20/20, and then that is snuffed out, unless there's
4 some other retinal complication; or in the very
5 unusual case where the swelling alone can compromise
6 the blood vessels.

7 But this is an unusual case, in so many
8 respects; and I'm just pointing out that the nature
9 of the visual-field loss is also unusual, Something
10 strange happened here; and I presume that it's that
11 unusual phenomenon that we do occasionally see after
12 decompression of a tumor or a cyst in a child who
13 may not even have very chronic papilledema.

14 Q. Doctor, would you agree that one of the
15 duties of a physician is to do the appropriate
16 diagnostic studies so there's enough clinical
17 evidence to make a diagnosis?

18 A. Yes.

19 Q. And if the physicians at Cleveland Clinic
20 failed to do appropriate diagnostic studies in
21 Kevin's case, would you agree that that would be
22 substandard care?

23 MS. CARULAS: Just note my objection.
24 Go ahead.

1 A. In general terms, as you put **it**, yes.

2 Q. ■ If Kevin had had a shunt put in in February
3 or early March of '98, is **it** likely that his vision
4 would be close to what **it** was when Dr. Marcotty
5 examined him in February?

6 MS. CARULAS: Objection.

7 A. I don't think we can clearly determine that.
8 Again, this is, I think, an unusual situation, where
9 **it** might have occurred regardless of when the shunt
10 was done. I think it's very difficult to make that
11 determination.

12 Q. If formal visual-field testing was done
13 around February 10, when Kevin was first noted to
14 have papilledema, and **it** demonstrated some beginning
15 of visual field defects, from the perspective of a
16 neuro-ophthalmologist, what would be the
17 recommendations?

18 MS. CARULAS: Objection.

19 A. To treat the underlying problem, which was
20 done in this case,

21 Q. And what type of follow-up would have been
22 recommended for Kevin?

23 MS. CARULAS: Objection. Go ahead.

24 A. I think **it** would depend on how the problem

1 was managed.

2 If the patient was being treated
3 medically and then the determination was to manage
4 it surgically, and that took care of the underlying
5 problem, then I'm not sure that follow-up would make
6 any difference.

7 It would be ideal to monitor the
8 patient's vision on a daily basis; but in the real
9 world that's not done. Again, I would think that
10 the same management of the problem probably would
11 have occurred.

12 There are other issues here, in terms of
13 when scans were done; the patient was
14 claustrophobic, and there were other issues. But in
15 general, here's a child with a problem recognized
16 from the beginning, and it's managed within an
17 appropriate period of time.

18 So I really don't think that it would
19 make any difference, and I would certainly not be at
20 all critical of that; and my sense is, it wouldn't
21 make a difference. But I can't really say one way
22 or the other

23 Q. I don't think you answered the question that
24 I asked. That was, if formal visual fields were

1 done in February and **it** demonstrated some visual-
2 field defect "- now, we know that Kevin was placed
3 on Diamox at that point -- what would be your
4 recommendation from the perspective of a neuro-
5 ophthalmologist in regard to following Kevin's
6 vision?

7 MS. CARULAS: Objection.

8 A. I think **it** would have been the same as
9 Dr. Marcotty did. My understanding is that there
10 was some attempt to follow up on him within a period
11 of weeks.

12 Q. That would have been appropriate, to follow
13 up in a period of weeks?

14 A. I think that's fine, especially since the
15 problem is being managed by the neurosurgeon and is
16 recognized as to what **it** is, and the neurosurgeon is
17 doing the best they can to manage the patient.

18 Q. Do you find **it** concerning from your
19 perspective as a neuro-ophthalmologist that
20 Dr. Luciano never did a funduscopy exam to look for
21 papilledema at any time between Kevin's cyst
22 fenestration and his shunt procedure?

23 MS. CARULAS: Objection, again.

24 Q. Even though Kevin was having symptoms of

1 increased intracranial pressure?

2 MS. CARULAS: Note my objection.

3 A. I don't find it unusual that that wasn't
4 documented.

5 Q. Did you read Dr. Luciano's deposition in
6 which he said he doesn't do funduscopy exams?

7 A. Yes; and I do know that especially in young
8 people that may not be done, especially if the
9 problem is recognized and is being managed.

10 Q. What's your understanding as to why Kevin
11 required a shunt procedure in April of '98?

12 A. My understanding is that after the initial
13 fenestration, although it may have decompressed the
14 cyst associated with the increased intracranial
15 pressure, conservative measures, including Diamox,
16 were not successful in controlling his symptoms; and
17 that it was finally decided to do another procedure,
18 which was the shunt procedure.

19 Q. And what is your understanding as to how
20 Kevin's vision loss was eventually discovered?

21 A. That, I have to admit, I don't recall.

22 Q. Who, in your opinion, was responsible for
23 monitoring Kevin's papilledema?

24 A. Again, if the papilledema is being

1 controlled, then there's no reason for monitoring
2 it. But the patient was seen by Dr. Marcotty, and I
3 understand arrangements were made to follow up; and
4 I'm not sure why the child did not follow up with
5 Dr. Marcotty, who was his assigned ophthalmologist.
6 So I'm not sure there was necessarily a problem
7 there from a medical point of view.

8 Q. Doctor, you premised your answer with "if
9 the papilledema is being controlled." Was there
10 anything that was indicated to you in reviewing
11 those records that the papilledema discovered on
12 February 9 was controlled?

13 A. There's evidence that the cause of the
14 papilledema was appropriately managed by the shunt.
15 The cyst was decompressed. The headaches went away.
16 The child returned to normal function, as far as I
17 know, returned to very normal function.

18 I think it was felt that -- well, it
19 probably would have been felt by Dr. Luciano that
20 everything was fine; except that I gather that it
21 was relatively soon thereafter that they started
22 seeing other doctors, and I think that the follow-
23 up just continued as it did.

24 (Recess taken)

1 Q. What's the incidence of visual impairment
2 when chronic papilledema is present?

3 MS. CARULAS: Note my objection as to
4 what the definition is.

5 A. In the usual case, say, with pseudo-tumor
6 cerebri, where most studies dealing with what you're
7 referring to as chronic papilledema have been dealt
8 with, there is a variability in terms of depending
9 on the patient population.

10 For example, in Philadelphia I think the
11 incidence is in the range of 10, even 25 percent; in
12 Detroit I think it's much higher, it's claimed to
13 be. In my experience, it's relatively low, 10
14 percent.

15 And I'm referring to any detectable
16 visual-field loss, predominantly. Loss of visual
17 acuity is extremely rare.

18 Q. Now, that's with pseudo-tumor cerebri;
19 correct?

20 A. Correct.

21 Q. What about from other causes of increased
22 intracranial pressure?

23 A. Again, that's difficult to generalize;
24 because many times the underlying cause of the

1 problem can also affect vision, and frequently one
2 doesn't have time to monitor the problem.

3 For example, if one has a brain tumor
4 and the tumor is removed, the papilledema goes away,
5 and there's no visual loss. So ■ would think it's
6 extremely rare.

7 Q. Would you agree that Kevin is functionally
8 blind in his left eye --

9 A. Yes.

10 Q. -- based on records you've seen?

11 How does monocular vision affect a
12 person's ability to carry out tasks?

13 A. I think it all depends on the individual
14 In most children, it has very little impact on their
15 visual function. Most can develop a different sense
16 of depth perception, and can perform most any task.

17 Q. Are there certain types of physical
18 activities that would be difficult for Kevin to do
19 because of loss of depth perception and loss of
20 visual fields on the left side?

21 A. Yes. For example, he might have difficulty
22 with certain types of sports, such as baseball; but
23 on the other hand, he might do just fine.

24 It all depends on how the child adapts,

1 how their remaining peripheral vision can be
2 utilized.

3 Q. Is Kevin at somewhat higher risk for injury
4 because of loss of depth perception in visual fields
5 on his left side?

6 MS. CARULAS: Objection.

7 A. To some degree, yes.

8 Q. Are there any occupations that you as a
9 neuro-ophthalmologist believe would be difficult for
10 him or would recommend against because of the vision
11 loss that he has?

12 A, I know he might not qualify for, say, flying
13 airplanes. I know that for truck driving, in most
14 states it's required to have better than 20/40
15 vision in both eyes.

16 Some surgical programs might require
17 normal binocular vision. I know some ophthalmology
18 programs still require it.

19 We've never really had that. We've had
20 residents who are completely blind in one eye, and
21 became highly competent ocular surgeons; took out
22 cataracts with one eye only, completely blind in one
23 eye.

24 Q. Doctor, I have a copy, I believe, of your

1 report that's marked as Plaintiffs' Exhibit 2, and
2 it is an undated letter. ■ would just ask if you
3 would identify that.

4 A. It should have been dated. But it's my
5 letter; probably --

6 Q. On this case?

7 A. Written in this case, on or about August 21,
8 2001.

9 Q. And who was that report directed to? Who
10 requested that report from you?

11 A. I believe Ms. Carulas or her associate.

12 Q. Did you provide defense counsel with any
13 drafts of your report?

14 A. ■ don't recall doing a draft of this report.
15 I might have, but I don't recall. Sometimes I do;
16 sometimes ■ deal with it over the phone.

17 Q. If you had a draft, would it still be in
18 existence?

19 A. No; that, I don't have.

20 Q. And is this the only report that you did for
21 her?

22 A. That's correct; and I have no record that ■
23 did a draft.

24 Q. Have you provided any written memorandum on

1 this case, aside from the report that you've done?

2 A. No.

3 I would like to amend **it**, though. My
4 Exhibit 3, I did make a correction, and your Exhibit
5 2 doesn't, which is the same thing; although Exhibit
6 3 says middle fossa cyst, which is a correction of
7 my original letter, which said posterior fossa cyst.

8 Q. Does the report that we've marked as
9 Plaintiffs' Exhibit 2, with the correction that you
10 just mentioned, summarize all the opinions that you
11 currently intend to express as an expert in the
12 trial of this matter?

13 A. **I**t summarizes my opinion, yes.

14 Q. Are there any opinions that you intend to
15 express at trial that are not summarized in your
16 report?

17 A. **I** can only say that **I** might expand on these,
18 as we have during this deposition; but I don't
19 expect to say anything differently. And, no; I
20 think we've covered **it** all.

21 Q. And do you still maintain all of the
22 opinions that are summarized in Plaintiffs'
23 Exhibit 2?

24 A. Yes, I do.

1 Q. Do you intend to do any additional work on
2 this case between now and the time of trial?

3 A. Yes. I plan to review a few items that I
4 may have overlooked, such as how he was referred
5 back to Dr. Marcotty, et cetera.

6 Q. Aside from reviewing the depositions and the
7 materials, is there any other special work that you
8 intend to do on this case?

9 A. No.

10 Q. And have you been asked to do any additional
11 work?

12 A. No.

13 Q. Now, Doctor, in the assignment that you
14 were given relative to this case, were you asked to
15 render opinions as to whether certain physicians met
16 the standard of care?

17 A. No. I've been asked primarily to deal with
18 causality; and I don't feel qualified to render an
19 opinion regarding standard of care regarding
20 Dr. Luciano, since I'm not a neurosurgeon.

21 Q. Your report, at Paragraph 3, indicates that
22 in your opinion Dr. Luciano appropriately managed
23 Kevin's care by performing a procedure with the
24 least amount of risk. Is that a standard-of-care

1 opinion?

2 A. So maybe ■ should qualify it.

3 First of all, at the time ■ read the
4 opinion, it wasn't clear to me how much Dr. Marcotty
5 might have been involved. In fact, now that ■ look
6 at my opinion, it was Kiss v. Marcotty, et al. So
7 at that time ■ was not only commenting on
8 Dr. Luciano, but also Dr. Marcotty.

9 So much of my opinion regarding standard
10 of care was directed towards the ophthalmologist
11 involved, as my ophthalmic opinion.

12 You've asked me today to comment
13 on Dr. Luciano's standard of care, and ■ maybe
14 shouldn't have answered the questions; but based on
15 my experience working with neurosurgeons, I might
16 have some general opinion, and ■ tried to answer
17 your questions in that light.

18 But as far as how I expect a
19 neurosurgeon to manage the case, especially with
20 regard to the surgery, I would not render an
21 opinion.

22 Q. Then are you retracting this portion of
23 your report where you say Dr. Luciano appropriately
24 managed Kevin's care by performing a procedure with

1 the least amount of risk?

2 MS. CARULAS: Well, you've gone over it
3 at length.

4 MS. TOSTI: He's just telling me that
5 he's not --

6 MS. CARULAS: As to technical issues,
7 you've gone over and over the time period and so
8 forth.

9 MS. TOSTI: I need to know whether he's
10 going to be expressing opinions on standard of care
11 or not; and I'm asking him what he means in his
12 report, which is my prerogative.

13 A. I would say that questions similar to those
14 you've asked me today regarding standard of care on
15 Dr. Luciano's part I will answer as based on my
16 observations of other neurosurgeons, in a very
17 general way, just as I've answered them earlier; but
18 I don't expect to be any more specific than I have
19 been already today

20 Q. Now, in Paragraph 2, you indicate that on
21 December 17, '97 the cyst was fenestrated; and over
22 the ensuing weeks the child continued to have
23 headaches and develop the papilledema.

24 A. I would also edit the "the." "A"

1 papi11edema.

2 Q. Did you read in the clinical notes of
3 January 22 where he said he did initially well
4 without complaints of headaches, and then the
5 headaches started two weeks ago, after the surgical
6 procedure?

7 A. Yes, that's correct. As far as my notes are
8 concerned, I note that on January 22, when he saw
9 Dr. Luciano, he had had headaches for two weeks.

10 So you're correct; there does seem to be
11 an interval.

12 Q. So Kevin's headaches went away after his
13 fenestration, and then they came back; correct?

14 A. I'm not sure he had significant headaches
15 before the fenestration. I may be wrong about that;
16 but my understanding is that he developed headaches
17 two weeks before he saw Dr. Luciano in follow-up on
18 the 22nd. That's all I recall.

19 Q. Well, the note from the visit from
20 Dr. Luciano that was recorded by his clinician
21 indicates, did initially well without complaints of
22 headaches, and the headaches started two weeks ago.

23 A. That's correct.

24 Q. So he did not continue his headaches from

1 the time of surgery?

2 A. That's correct.

3 Q. Now, you also say, over the ensuing weeks,
4 the child developed papilledema?

5 A. Yes; referring to the period of time between
6 the 22nd of January and the 9th of February, which
7 was basically two and a half weeks.

8 So ■ should maybe qualify that as saying
9 was noted to have, rather than developed; because,
10 as I said earlier, I'm not sure when that began.

11 Q. And then you also referred to medical
12 treatment for papilledema. Is that the Diamox
13 treatment that you're referring to?

14 A. Yes; correct.

15 Q. And do you have an opinion as to whether
16 Diamox was an appropriate treatment as it relates to
17 papilledema in this case?

18 A. Again, ■ would defer to the neurosurgeons.

19 Diamox is an appropriate method of
20 reducing spinal-fluid production, which leads to
21 increased intracranial pressure; and ■ would not
22 argue against that. If that was the neurosurgeon's
23 decision, I think it's appropriate treatment.

24 Q. Now, we've discussed your opinion that there

1 was a decompression that resulted in the vision loss
2 that Kevin had. How did you rule out chronic
3 papilledema or persistent papilledema as a cause of
4 the vision loss?

5 A. I wouldn't say I ruled it out completely.

6 There is evidence that preoperatively
7 the vision was at least not as profoundly affected
8 as it became; particularly since it was Dr. Cohen
9 who examined the child before, and then subsequently
10 recognized the visual loss. Additional evidence for
11 that

12 is Dr. Marcotty's observations that there was
13 papilledema, and that the vision was basically
14 normal.

15 With regard to acuity, I think
16 Dr. Marcotty raised an appropriate level of concern;
17 but in his judgment there was no imminent risk of
18 loss.

19 Q. In February?

20 A. In February.

21 So that I think there's some evidence,
22 at least, that vision was not being significantly
23 affected preoperatively; and there's ample evidence
24 that it was significantly affected postoperatively.

1 Again, I mentioned earlier, this is based on
2 observations that the difference in pupillary
3 reaction was profoundly different postoperatively,
4 but not immediately postoperatively.

5 Had this been a chronic progressive
6 problem, this pupillary difference would have been
7 observed; especially if there was significant visual
8 loss occurring before the ultimate procedure.

9 I also base this on the unusual nature
10 of the visual fields, which is not typical of
11 chronic progressive papilledema; and also on my own
12 experience in this kind of situation, where a child
13 goes to a neurosurgeon because of a tumor or some
14 sort of cyst or some blockage of spinal fluid, the
15 blockage is relieved, and then the vision rapidly
16 progressively deteriorates over a short period of
17 time. Unfortunately, usually it's more severely
18 affecting both eyes than it is in this case.

19 That's the basis of my opinion.

20 Q. Now, you are aware that Dr. Kosmorsky, a
21 Cleveland Clinic neuro-ophthalmologist, examined
22 Kevin in July of 1998, and documented that Kevin had
23 optic atrophy in both eyes secondary to papilledema.
24 Do you disagree with Dr. Kosmorsky's notation?

1 A. No; ■ think it depends on how the -- ■ don't
2 disagree that papilledema plays a role in this type
3 of visual loss. ■ think the question is the way in
4 which the papilledema plays a role. So ■ don't
5 disagree with what he says, no.

6 Q. What role does papilledema play in this type
7 of vision loss?

8 A. ■ think we don't understand the mechanism of
9 this type of visual loss. It's a different type of
10 effect, which in some ways is paradoxical.

11 Why would the optic nerve become
12 dysfunctional when you relieve the pressure? It's
13 considered to be perhaps a type of vascular problem.

14 Another theory might be that there is a
15 certain amount of scarring that occurs in some
16 individuals that may have to do with the way these
17 individuals' optic nerves are constructed, that
18 allows them to be susceptible to whatever this
19 problem is.

20 It's unusual. It's a tremendous
21 surprise when it occurs, because one has a child
22 that's functioning normally, and suddenly goes blind
23 after the problem has been corrected, apparently.

24 It's different than the chronic

1 progressive papilledema, where the visual field
2 becomes restricted gradually over a period of time,
3 especially when papilledema has been present for a
4 much longer period of time than we can presume was
5 present in this case.

6 Q. Now, you said that this phenomenon occurs in
7 the best medical centers in the country, and in the
8 best hands. What's the source of that statement?

9 A. It's occurred to a neurosurgeon to whom I
10 would refer one of my family members without any
11 hesitation.

12 Q. Who is that?

13 A. Carl Heilman.

14 Q. And you say the best medical centers in the
15 country. What medical centers are you referring to?

16 A. Well, of course I'm referring to my own.

17 Q. Okay.

18 A. It's occurred, I know, in places like the
19 University of Iowa, Cincinnati, Virginia, in San
20 Francisco, where I trained.

21 I'm certain that everyone who has
22 practiced neuro-ophthalmology has seen this at least
23 once; if they see children, especially.

24 Q. Do you have an opinion as to whether Kevin's

I vision loss was preventable?

2 MS. CARULAS: Objection.

3 A. Again, I don't think we can at this point
4 know how to deal with this. I would rather say that
5 everything was done to manage his problem, including
6 the papilledema; put it this way. Everything was
7 done to try to prevent this from happening.

8 So I don't think anything differently
9 would have been done that might have prevented this.

10 Q. Do you have an opinion as to whether there
11 was a certain point in time after which his vision
12 loss was going to be inevitable?

13 A. No, I don't. I don't think we can predict
14 that at all.

15 Q. Did you find fault with any of the care that
16 you reviewed in this case?

17 MS. CARULAS: Just note my objection.

18 Who are you talking about in particular?
19 We already talked about Luciano and Marcotty.

20 MS. TOSTI: I'm asking him if he found
21 fault with anyone he reviewed.

22 MS. CARULAS: That's a non-appropriate
23 question for this setting.

24 A. I would rather put it this way: I don't

1 find that the care rendered to this child was below
2 the standard of the community. ■ don't want to
3 comment further on fault or whatever.

4 ■ think that this was an unfortunate
5 side effect of the disease and the treatment of the
6 disease that was required; and that the child, to
7 the best of my ability, my opinion, was managed
8 appropriately.

9 Q. Do you blame Kevin or his parents in any way
10 for the vision loss that he suffered?

11 A. No, I don't, no. I don't, no; of course
12 not.

13 Q. Doctor, in the materials that we marked
14 initially as exhibits, there are several e-mails
15 that are marked as, I believe, Exhibits 8, 9, and
16 11; and I'm wondering what these e-mails are in
17 reference to, how you happened to come by them.

18 A. Well, it just happened that, while I was
19 reviewing this case and was on what is referred to
20 as NANOSNET, which is basically a chat room for
21 neuro-ophthalmologists around the country and the
22 world, this same problem arose fairly recently.

23 The e-mail is generated from Carl Golnik
24 from Cincinnati, who had a patient --

1 Q. Could you tell me which exhibit you're
2 referring to, what the number is?

3 A. Nine -- wait a minute; I'm sorry. Eight.

4 Q. Okay. Go ahead.

5 A. So, Golnik had a case where the patient had
6 a different problem, pseudo-tumor cerebri, which may
7 have been due to a blocked vein; and had well-
8 documented normal vision before a spinal tap.

9 The spinal tap revealed high pressure,
10 and immediately after high pressure there was a
11 severe bilateral loss of vision. This generated
12 some discussion regarding this phenomenon, which is
13 very rare, that after decompression of high
14 intracranial pressure there is vision loss.

15 And I didn't follow up on all the
16 subsequent conversations that this generated, but
17 there was a response from others, including a John
18 Harrison from Virginia, and William Fletcher, who I
19 believe is perhaps in Toronto; I'm not sure.

20 Q. And you're referring now to Exhibit 9?

21 A. Exhibit 9 is Harrison's response,
22 and below that also one from William Fletcher,
23 both neuro-ophthalmologists -- all three are neuro-
24 ophthalmologists -- commenting on how we can prevent

1 this.

2 And it's really an indication that if we
3 were to poll neuro-ophthalmologists in a room, they
4 would say, yes, I've seen this, it's rare; but how
5 do we prevent it?

6 It seems to have something to do with
7 the sudden drop in pressure that occurs, in this
8 case after a spinal tap and, as Dr. Harrison notes,
9 in children with brain tumors.

10 In fact, Dr. Harrison sort of jokingly
11 says that he calls the neurosurgeons and says, drop
12 the pressure slowly. He puts that in quotes, I
13 think to indicate that it really isn't possible in
14 this situation. As I thought about it, it's not
15 practical to try to predict when this might happen,
16 because it's so infrequent.

17 But we do need to, I think, address this
18 issue, and try to find a way to predict which of
19 these patients will have this happen, either by the
20 appearance of the nerve or the nature of their
21 problem; and then perhaps find some way to, as
22 Dr. Harrison mentions, lower the pressure more
23 gradually.

24 Q. Did you put a set of facts out on this

1 Internet site in regard to Kevin Kiss?

2 A. No. I have not responded to this memo,
3 other than to call Dr. Golnik from a phone to ask
4 him a little more about the case, without referring
5 to this case, but to just ask him a little more.

6 I was mainly interested as to whether he
7 knew of more cases in the literature, because there
8 is a paucity of reports of this phenomenon, even
9 though it is fairly --

10 Q. And what did he tell you?

11 A. He said yes, he's thought about reporting
12 this case. He did not know of any additional
13 literature references other than those that I've
14 referred to earlier.

15 He did agree that, from what he's heard
16 from others, this is a definite phenomenon, but one
17 that needs further attention.

18 Q. And is it your feeling that this patient
19 with pseudo-tumor cerebri has parallel type facts
20 with Kevin Kiss, who had increased intracranial
21 pressure over the course of several months?

22 A. Well, I think it's hard to tell. The case,
23 for example, that Golnik is referring to as evidence
24 that maybe the papilledema was even more subacute

1 refers to weeks; and I think indeed that does have
2 more parallels to the Kiss case, where I think it
3 wasn't that longstanding.

4 My personal sense is -- I can't prove
5 it -- that he didn't have papilledema for the
6 chronic, longstanding period. My sense is also
7 that, in the children we've seen, it's not
8 longstanding papilledema.

9 Again, we really don't understand this
10 very well; but we do know it occurs, that there are
11 people that seem to have moderate papilledema, and
12 for some reason after their pressure is lowered the
13 whole process turns against them.

14 I've made the analogy in the past
15 between this situation and diabetes. If a patient
16 comes in with a blood sugar that's very high, and
17 you drop that blood sugar to normal within hours,
18 it's extremely dangerous. All kinds of side effects
19 occur.

20 If someone comes in with very, very high
21 blood pressure, to make a better analogy, and you
22 take their blood pressure and drop it immediately,
23 they can lose vision very similarly, and have other
24 side effects. They can have a stroke.

1 Therefore, with diabetes, we usually
2 drop the blood sugar over a period of many hours,
3 more gradually. Similarly with blood pressure; we
4 try to encourage our internal-medicine colleagues
5 not to drop **it** too precipitously.

6 In this case, it's so rare that to tell
7 a neurosurgeon to drop the pressure slowly, first of
8 all, it's technically difficult, and may, **I** think,
9 perhaps put patients who aren't at risk for this --
10 if we could determine that -- at more risk, by
11 trying to do too much manipulation of the shunt or
12 whatever.

13 It's a problem that I'm not sure we're
14 going to resolve; but **I** think it's certainly one
15 that does deserve more attention, as Dr. Golnik
16 apparently does in his Internet communication here.

17 Q. Can you get visual-field loss **if** you have
18 papilledema for a period of three months?

19 A. Yes.

20 Q. Can you have visual-field loss to the extent
21 where you would lose functional vision in an eye **if**
22 you have papilledema for a period of three months?

23 A. It's extremely unusual; but **it** can occur,
24 yes. Anything can happen.

1 Q. Doctor, have we covered all of your opinions
2 that you intend to offer at trial?

3 A. I believe so.

4 Q. If you arrive at any new opinions, I would
5 appreciate if you would please tell defense counsel
6 so that she can inform me, and then I would request
7 to continue your deposition relative to any new
8 opinions that you have.

9 Also, if you review any additional
10 materials aside from whatever depositions need to be
11 still taken in this case between now and the time of
12 trial, I would request that you inform me as to what
13 the doctor is looking at.

14 Otherwise, I think we have completed all
15 of the questions that I have for you, and your
16 deposition is finished.

17 THE WITNESS: Okay.

18 MS. CARULAS: You have the right to read
19 over the transcript.

20 THE WITNESS: Yes, I would like to.

21 (6:25 p.m.)

22

23

24

1 CERTIFICATE OF NOTARY PUBLIC

2
3 I, Janis T. Young, Certified Realtime
4 Reporter, the officer before whom the foregoing
5 deposition was taken, do certify that THOMAS REED
6 HEDGES III, M.D., whose testimony appears herein,
7 was duly sworn by me; that the testimony of said
8 witness was taken by me in machine shorthand and
9 thereafter reduced to writing by means of
10 computer-aided transcription; that said deposition
11 is a true record of the testimony given by said
12 witness; that I am neither counsel for, related to,
13 nor employed by any of the parties to the action in
14 which this deposition was taken, and further that I
15 am not a relative or employee of any attorney or
16 counsel employed by the parties thereto, nor
17 financially or otherwise interested in the outcome
18 of the action

19
20 

21 Janis T. Young, RMR/CRR
22 Notary Public in and for the
23 Commonwealth of Massachusetts

24 My commission expires: June 28, 2007

THOMAS REED HEDGES 111, M.D.

SIGNATURE PAGE / ERRATA SHEET

PAGE	LINE	CHANGE OR CORRECTION AND REASON
31	1	change "distinguished" to included
42	22	" 'papululemic' to fluid
48	19	" 'had' to haven't
70	11	" 'indicates' to they indicated
96	12	" 'clini-city' to chronicity
100	23	" 'with' to by
110	3	" 'read' to wrote

I have read the foregoing transcript of my deposition on January 24, 2002. Except for any corrections or changes noted above, I hereby subscribe to the transcript as an accurate record of the statements made by me.

Signed under the pains and penalties of perjury.

Deponent: Thomas R. Hedges 2/5/2002

THOMAS REED HEDGES III, M.D.

Notary Public: Claire Healey 2/6/2002

in and for: _____

My commission expires: 5-10-07

1 **THOMAS REED HEDGES III, M.D**

2 SIGNATURE PAGE / ERRATA SHEET INFORMATION

3 For deposition taken on: January 24, 2002

4 Kiss vs. Marcotty, et al.

5
6 **SIGNATURE INFORMATION FOR COUNSEL**

7 The original signature page/errata sheet has been
8 sent to Anna Moore Carulas, Esq., to obtain
9 signature from the deponent. When complete, please
10 send original to Jeanne M. Tosti, Esq. A copy of
11 any errata should be sent to each party of record
12 present at the deposition.

13
14
15 **WITNESS INSTRUCTIONS**

16 After reading the transcript of your deposition,
17 please note any change or correction and the reason
18 on the errata/signature page. **DO NOT** make any
19 notations on the transcript itself. If necessary,
20 continue the format on a separate page.

21 **PLEASE SIGN AND DATE** (before a notary if requested)
22 this page and return it along with the transcript to
23 your counsel.

I N D E X

THOMAS REED HEDGES III, M.D.

EXAMINATION BY:

Ms. Tosti..... 3

EXHIBITS (FIRST REFERENCE IN TRANSCRIPT)

Exhibit 2..... 113

Exhibit 3..... 114

Exhibit 6..... 41

Exhibit 7..... 54

Exhibits 8, 9, and 11..... 125

Exhibit 10..... 54

Marked exhibits retained by counsel.

Certificate of Notary Public..... 132

Signature Page / Errata Sheet..... 133

Signature Page / Errata Sheet Information..... 134

1 **THOMAS REED HEDGES 111, M.D.**

2 SIGNATURE PAGE / ERRATA SHEET INFORMATION

3 For deposition taken on: January 24, 2002

4 Kiss vs. Marcotty, et al.

5

6 **SIGNATURE INFORMATION FOR COUNSEL**

7 The original signature page/errata sheet has been
8 sent to Anna Moore Carulas, Esq., to obtain
9 signature from the deponent. When complete, please
10 send original to Jeanne M. Tosti, Esq. A copy of
11 any errata should be sent to each party of record
12 present at the deposition.

13

14

15 **WITNESS INSTRUCTIONS**

16 After reading the transcript of your deposition,
17 please note any change or correction and the reason
18 on the errata/signature page. **DO NOT** make any
19 notations on the transcript itself. If necessary,
20 continue the format on a separate page.

21 **PLEASE SIGN AND DATE** (before a notary if requested)
22 this page and return it along with the transcript to
23 your counsel.