

# 605

IN THE DISTRICT COURT OF  
WYANDOTTE COUNTY, KANSAS

DARREN PORTERFIELD,

Plaintiff,

vs .

No. 89C1850

EMERGENCY PHYSICIANS SERVICES

OF KANSAS CITY, INC., et al.,

Defendants.

DEPOSITION OF GREGORY L. HENRY, M.D., a  
Witness, taken on behalf of the Plaintiff before  
Karen Kellerman, CSR, pursuant to Notice on the  
30th day of August, 1990, at the Ann Arbor  
Briarwood Hilton, 610 Hilton Boulevard, Ann Arbor,  
Michigan.

ORIGINAL

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3300 • 1-800-748-7511

~~APPEARANCES~~

Appearing for the Plaintiff was  
MR. JOHN R. MENCL of CURTIS, MENCL & HALAS, P.C.,  
Suite L, 3600 South Noland Road, Independence,  
Missouri 64055.

Appearing for the Defendant Allin and  
Emergency Physicians Services of Kansas City,  
Inc., was MR. DAVID R. ERICKSON of BLACKWELL,  
SANDERS, MATHENY, WEARY & LOMBARDI, Suite 1200,  
9401 Indian Creek Parkway, Overland Park, Kansas  
66210.

Appearing for the Defendants Brooks and  
Soucek was MR. MICHAEL OLIVER of WALLACE,  
SAUNDERS, AUSTIN, BROWN & ENOCHS, 10111 Santa Fe  
Drive, Overland Park, Kansas 66212.

Appearing for the Defendant  
Providence-St. Margaret Health Center was  
MR. KENNETH J. REILLY of McDOWELL, RICE & SMITH,  
Suite 357, 2500 Holmes, Kansas City, Missouri  
64112.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3700 • 1-800-748-7511

**INDEX****WITNESS :****PAGE :**

GREGORY L. HENRY, M.D.

Examination by Mr. Mencl

4

**EXHIBITS :**

Henry Deposition Exhibits 1 - 2

7

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

Q. would you state your full name for the record.

A. Gregory L. Henry, M.D.

Q. What is your present address?

A. 1850 Washtenaw, Ann Arbor, Michigan.

Q. It is my understanding you are a board certified emergency room physician.

A. Yes, I am a board certified emergency physician and an examiner for the boards.

Q. I want to start off by trying to have a complete understanding of what you have reviewed in preparation for forming your opinion. Why don't we just start going through these depositions, and you tell me what the deposition is.

A. All right. My opinions that I express today are based on the following pieces of information.. The deposition of a Kevin Kuebler.

Q. And for the record, that deposition of Kevin Kuebler is August 1988. Some of these

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3300 • 1-800-748-7511

1 people have more than one deposition.

2  
3  
4  
5  
6  
7 of October, '89. Deposition of Nurse Laura Jobe,  
8 23rd October, '89. Deposition of Nurse Cynthia  
9 Ambrose, that's 23rd October, '89. Deposition of  
10 William Brooks, October 11, '89. Deposition of  
11 Kevin Kuebler, 12 October, '89. Deposition of  
12 Donald Goodwin, 23 July, '89.

13 MR. ERICKSON: I think that's '90.

14 MR. MENCL: It has to be '90.

15 A. I think it is, but it's written on the  
16 front as '89. Then I have deposition of Walter  
17 Levy, M.D., 23 January, '90. I have the  
18 deposition of Joseph Coppola, 22nd January, 1990.  
19 Wendy Marshall, M.D., 9 February, 1990. Charles  
20 Carton, July 31, 1990. Clark Watts, M.D., on 18  
21 July, 1990. Kevin Fogarty, July 6, 1990. Michael  
22 Rydquist, July 6, 1990. And then I have excerpts  
23 from Providence-St. Margaret medical records. And  
24 I have a summon and complaint with regard to this  
25 matter, and I have a chronology of events with

**METROPOLITAN COURT REPORTERS' INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(313) 383-3900 • 1-800-748-7511

1  
2  
3 file at this point.

4  
5 track of the time that you spend doing things on  
6 this matter?

7 A. My billing office would have a record  
8 of any time that's been billed to the defense  
9 firm. I don't have that billing record with me,  
10 but I can -- I certainly can obtain how many hours  
11 so far into this case, I would imagine that at  
12 this point in time there are maybe 12, 15 hours  
13 involved in this case.

14 Q. In going through all the depositions  
15 and analyzing the information?

16 A. Yes, exactly.

17 Q. How much are you charging Mr. Erickson  
18 for this review of materials?

19 A. My standard charge is \$150 an hour for  
20 nonblocked-out time, for casual reading time. For  
21 a blocked-out time, such as meetings, depositions  
22 or trial, it's \$250 an hour.

23 Q. And did you spend any time in  
24 particular preparing for this deposition by, you  
25 know, rereading things, reviewing records?

\_\_\_\_\_**METROPOLITAN COURT REPORTERS, INC.**\_\_\_\_\_

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 A. Yes. I've spent, prior to this  
 2 deposition, probably about two hours of just  
 3 researching things, and then I had a -- let's say.  
 4 I had one meeting prior to this -- immediately  
 5 preceding this deposition with defense attorney.  
 6 That would be the sum total of preparation for  
 7 this.

8 Q. So would the two hours include the  
 9 meeting with --

10 A. No, it would not.

11 Q. Oh, okay. That's exclusive. All  
 12 right. The copy of the petition that was sent to  
 13 you, was that anything more than just background?

14 A. That's only background. It just allows  
 15 me to know who the players are and what the  
 16 allegations are.

17 Q. Did you bring a CV with you?

18 A. I did not.

19 MR. ERICKSON: I already gave you  
 20 one.

21 A. I'll be very happy to send you a new  
 22 CV.

23 (Henry deposition exhibits 1-2  
 24 were marked for identification.)

25 Q. (By Mr. Mencl) Doctor, is Exhibit 2

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
 Overland Park, Kansas 66212  
 (913) 383-3900 • 1-800-748-7511

1 the chronology of the medical records that were  
2 sent to you by Mr. Erickson?

3 A. Yes, it is.

4 Q. I notice that's typewritten. Are the  
5 handwritten marks, marks that you put on there?

6 A. Yes, they are.

7 Q. It is my understanding that you have  
8 developed some opinions on whether or not Dr.  
9 Allin, the emergency room doctor, deviated from  
10 appropriate standards of care in treating Mr.  
11 Porterfield, and an opinion on causation, the  
12 cause of his spinal cord injury. What I am  
13 wondering is, are some of these depositions simply  
14 background that weren't particularly important in  
15 forming your opinions? I know that myself,  
16 sometimes I send a whole lot of depositions to an  
17 expert just to be on the safe side because it may  
18 be important.

19 MR. ERICKSON: Is that a question  
20 about what you typically do? I was going to  
21 object, but then you changed the question, and  
22 what you just said is not a question.

23 Q. (By Mr. Mencl) All right. With that  
24 preface, are any of these depositions that you  
25 looked at, after you reviewed them, do you

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1 consider them simply background, or do all of them  
2 contain information that's important?

3 A. Well, I divide the depositions into two  
4 groups, those which are the depositions of  
5 experts, are people who are commenting on the  
6 situation. They are background, but they do not  
7  
8 way. After all, that's just another expert's  
9 opinion of what's happened in the case. However,  
10 the depositions of those people who are material  
11 fact witnesses in the case or involved in the  
12  
13 provide some of the basis on which my opinion was  
14 derived.

15 Q. With a patient like Darren Porterfield,  
16 the type of injuries he sustained on that Friday  
17 night, November 20, 1987, what courses of action  
18 for an emergency room physician would be  
19 appropriate?

20 MR. ERICKSON: Objection. The  
21 question is overly broad and vague.

22 A. I can comment on your question, I think;  
23 in pieces.

24 Q. (By Mr. Mencl) Okay.

25 A. It is broad, but I will begin sort of

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

sequentially moving. I think that the principal job of the emergency physician in an unselected emergency department, where you see everything, is stabilization, initial stabilization, identification of those problems which are immediately life-threatening, and then proper disposition of the case. I mean, getting the case to a continuing care physician who will assume control, because this is what we do for a living anyway. It's what I did last night, so if I look a little tired, I saw five or six Warren Portefields, or potential Warren Portefields last night when I practiced.

And in my role, my view of the role of the emergency physician is the initial stabilization and mustering the correct forces together, bringing those people together who will manage the problem. But the initial stabilization of any one patient is always balanced against the fact that, you know, you are seeing multiple patients who you must treat, so I move with it as the best-all, end-all of care. It is the initial management phase.

Q. You mentioned you had occasion to see some patients last night who are like Warren

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 Porterville. What prompts a question of in  
2 general, of all the traffic accident victims that  
3 are seen in a typical emergency room, can you give  
4 me an idea of what rough percentage involve or  
5 have alcohol on board, law alcohol or drugs in  
6 their system?

7 A. Obviously, it depends on where the  
8 study is being done. I mean, is your research as  
9 your control group the Mormon Tabernacle Choir, it  
10 may carry a different result than a control group  
11 if you're using people outside of Run D.M.C.  
12 concert. Let me just tell you that the data would  
13 suggest that in this country, 50 percent of all  
14 people involved in serious injury or fatal auto  
15 accidents, at least 50 percent, have a toxic level  
16 of alcohol on board. Now, you can break that down  
17 further, if you would like, and -- .

18 Q. When you say toxic, do you mean like,  
19 is it what lay people would think of as legal  
20 intoxication?

21 A. That's right. I'll use the phrase  
22 legal intoxication, which varies from state to  
23 state, but if you use the point on standard which  
24 is, you're close in most states. That happens to  
25 be what it is in Michigan, that that's the level

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 at which we're talking about. Actually, there's a  
2 very good British study which looks at all people  
3 coming to emergency departments between 10:00 p.m.  
4 and 8:00 a.m. in the morning, no matter what they  
5 came for. Being cut fingers, stomachs torn,  
6 abdominal pain, and shown that just about 50  
7 percent of those people had significant alcohol  
8 levels on board. So --

9 Q. And this study that concluded that at  
10 least 50 percent of the people in serious traffic  
11 accidents had alcohol or drugs on board, was that  
12 probably stretched over a period of time where,  
13 you know, there would study weeks and weeks? They  
14 weren't looking at, for example, just a weekend?

15 A. No. Those are taking like years'  
16 statistics, and taking all comers.

17 Q. So in your opinion, based on your own  
18 experience, on a weekend, on a Friday or Saturday  
19 night, is there been a higher percentage of the  
20 traffic accident victims that come in with above a  
21 legal level of alcohol in their system?

22 A. I will say that subjectively speaking,  
23 from my own experience, from the local experience,  
24 that's true. That is my gestalt. That is  
25 correct. I do not have a study which would defend

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

case, I've been provided with just a very, very general statement that you are going to testify that Dr. Allin did not deviate from the standard of care and that Dr. Allin did not cause Mr. Porterfield's spinal cord injury. You probably have some more specific opinions in that regard.

A. I do.

Q. Okay. Why don't we start with the opinions regarding the standard of care, and then we'll talk about causation,

A \* I think that --

MR. ERICKSON: Doctor, there is no question pending.

Q. (By Mr. Mencl) Doctor, would you tell me your opinion on standard of care?

A. What do I think the concept is, or do you want what I consider the standard of care in this case?

Q. The standard of care -- what should have been the standard of care in this case.

MR. ERICKSON: Objection. The form

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 of the question makes no sense. It's also overly  
2 broad and vague.

3 MR. OLIVER: I also would object  
4 that it's argumentative. It assumes the standard  
5 of care that was applied in this case was  
6 inappropriate.

7 MR. ERICKSON: Why don't you ask  
8 him some specific questions that make better  
9 sense, please. And I'm not trying to give you a  
10 hard time, but I think you'll get to the heart of  
11 the matter a little sooner that way.

12 Q. (By Mr. Mencl) Doctor, are you of the  
13 opinion that the care Dr. Allin gave on Friday,  
14 November 20th, and the early morning hours of the  
15 next day, was appropriate when you match it  
16 against standards of care that you're  
17 knowledgeable about?

18 A. Yes. Considering the chart and the  
19 deposition testimony, I believe that his actions  
20 were perfectly consistent with the standard of  
21 care.

22 Q. Can you explain to me specifically why,  
23 why you feel, that way?

24 A. Well, I believe that the -- in a case,  
25 in a patient such as Darren Porterfield, the

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 standard of care requires that one initially, when  
2 a patient is brought in from an auto accident in  
3 which there is significant alteration of mental  
4 status, that the standard of care requires that  
5 one go, proceed through the basic trauma  
6 stabilization process, which includes cardiac  
7 status, or airway management, breathing, cardiac  
8 status.

9 Q. I've seen an acronym in some of the  
10 medical literature called the A, B, C's.

11 A. Yes.

12 Q. What we're talking about, airway,  
13 breathing, circulation?

14 A. Yes.

15 Q. And then there is there another C for  
16 cervical spine?

17 A. Two other C's.

18 Q. What are they?

19 A. Cervical spine immobilization, or  
20 consideration, and the other one is compression of  
21 obvious hemorrhage so that you don't let people  
22 bleed to death. I mean, that would be a  
23 consideration after you make sure that they're  
24 breathing, so those are consistent with the  
25 standard of care. At least the actions of Dr.

1 Allin at this point in time are perfectly  
2 consistent with the standard of care.

3 Once the initial stabilization phase is  
4 complete and you realize that they aren't going to  
5 die on a minute-to-minute basis anyway, then you  
6 do a secondary survey looking more carefully at  
7 the limbs, looking at the chest and the abdomen  
8 and the neurologic status of the patient, and  
9 decide what further testing may be appropriate.

10 Usually at that point a decision is also  
11 made as to the appropriate service or the  
12 appropriate followup physician who will become,  
13 involved in the care of the patient, so it is  
14 important, and it is required by the standard of  
15 care that the emergency physician get a followup  
16  
17 responsibility of the patient.

18

19

20

21

22

23 MR. ERICKSON: Hold it. Wait a  
24 second. You changed the question. First you

25 asked him about the A, B, C's in general, and then

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511



1 at the end you asked him about the C-spine.  
2 That's a double pronged question, and I need to  
3 object for that reason, in that it's compound.

4 Q. (By Mr. Mencl) Let me rephrase it.  
5 What did Dr. Allin do to initially stabilize  
6 Porterfield's cervical spine?

7 A. I think he continued the stabilization  
8 which had been presented from the EMT's, which is  
9 the usual state. I mean, obviously, EMT's vary in  
10 their aggressiveness and in their quality. If one  
11 knows that the patient has not been immobilized,  
12 then you may want to immobilize them before you  
13 send them for studies. If the patient is already  
14 immobilized, you just ascertain that that  
15 immobilization is adequate, and then you can send  
16 the patient for studies with that immobilization  
17 in place.

18 Q. Was the immobilization in this case a  
19 hard cervical collar, typically called a  
20 Philadelphia collar?

21 A. Yes. It was a -- it was an  
22 extrication-type collar, which is used by most of  
23 the EMT services,

24 Q. Is it your understanding that  
25 Porterfield came in from the paramedic unit, not

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1       only with a hard cervical collar, but with  
2       sandbags on his head and on a back board?

3           A.       Yes, which is again a typical mode of  
4       immobilization.

5           Q.       Once a patient is in the emergency  
6       room, do the sandbags help to immobilize the  
7       spine, or is that something that is only useful  
8       during transportation?

9           A.       Well, sandbags are just a mode of  
10      immobilization. I mean, the effect of  
11      immobilization is to prevent rotation, flexion,  
12      extension in several planes of motion. Now, some  
13      places do not use sandbags. Some people use a  
14      tape system, I think that it's perfectly  
15      reasonable to use sandbags. Some people have come  
16      up with other methods. I don't think one is any  
17      better than the other. You just need to maintain  
18      a system which prevents some sort of  
19      anteroposterior motion and some sort of lateral  
20      motion of the spine.

21          Q.       Well, but wouldn't the hard cervical  
22      collar alone prevent that motion?

23          A.       The hard cervical collar alone can  
24      prevent the AP motion pretty well.

25          Q.       By "AP," what --

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1       A.    Anterior-posterior. What's putting the  
2   chin to the chest. By putting an actual physical  
3   barrier between the chin and the chest, it will  
4   prevent that motion.

5       Now, the side-to-side motion, the  
6   lateral rotation, if you put sandbags on either  
7   side of the head, that will help to prevent that,  
8   but some people will prevent that by actually  
9   taking strips of tape and taping the head to a  
10   board, or they will use a strap off the back board  
11   that prevents side-to-side motion of the head.

12       Q.    Okay. So the use of either tape or  
13   sandbags in conjunction with a cervical collar  
14   would be appropriate.

15       A.    Yes. Again, there are certain cervical  
16   collars, which depending on how they fit on the  
17   board, will also prevent that motion, but there  
18   are multiple systems to do this.

19       Q.    Right. But in talking about this  
20   Philadelphia collar --

21       A.    Yes. And the Philadelphia collar  
22   usually puts something beside the head or a piece  
23   of tape or something like that.

24       Q.    For a patient like Darren Porterfield,  
25   would the standard of care require that to

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC. \_\_\_\_

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 immobilize his cervical spine that he be  
2 continued, not only in the hard cervical collar,  
3 but with the sandbags or tape?

4 MR. ERICKSON: Are you talking  
5 about in the hospital or in the ambulance?

6 Q. (By Mr. Mencl) In the hospital.

7 A. I think that you could use tape. Some  
8 places use a blanket., If they take the sandbags  
9 and tape off, they just put a blanket on either  
10 side of the collar. Some places have a board  
11 wedge that goes on the collar, You don't have to  
12 use a sandbag. You don't have to use the tape.  
13 But you usually use something which stops them  
14 from moving around.

15 Now, some people merely just, they have  
16 straps on their cot and on their board which  
17 prevents that kind of motion. And some of it, by  
18 the way, depends on the activity level of the  
19 patient., We have a lot of fighting drunks, '  
20 obviously, in whom immobilization techniques may  
21 vary, so that it will depend on the psychological  
22 state of the patient.

23 Q. In a patient like Mr. Porterfield, does  
24 the standard of care require that the doctor make  
25 a written order in the medical chart to keep the

1 neck or cervical spine immobilized if he has not  
2 yet ruled out the possibility of cervical  
3 fracture?

4 A. No. I mean, I did this five times last  
5 night. I never wrote an order to maintain  
6 something that was already started. Now, if it  
7 has not been begun, then you write an order to let  
8 that process commence, and it should be assumed  
9 that that will remain in force until an order to  
10 stop that has been written or has been given, so  
11 that if a process is already going -- it's like  
12 oxygen. If the oxygen is on, we don't write an  
13 order to say maintain oxygen. We write one where  
14 we want to change that.

15 Q. Okay. So is it your opinion that once  
16 the cervical collar or any type of immobilization  
17 device is on the patient's neck, that it takes a  
18 doctor's order for that to come off?

19 A. That's the way it should work, yes,  
20 that the immobilization of the neck is something  
21 which would require a doctor's say-so to  
22 discontinue.

23 Q. Would the order to discontinue that,  
24 should that be documented in the chart?

25 A. It should be.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 Q. Now, is that something that's typically  
2 written by the physician or written by the nurse?

3 A. If it's a verbal order, you  
4 occasionally will see "verbal order, Dr. Henry,  
5 remove cervical spine immobilization."  
6 Occasionally the doctor will actually write it  
7 down himself, but someone should note that they've  
8 been given permission, because usually the nurses  
9 and the techs are all trained not to remove the  
10 immobilization until they've been specifically  
11 directed to do so.

12 Q. So is it a fair statement that in your  
13 opinion, a nurse, either an ICU nurse or an  
14 emergency room nurse, would not have the  
15 discretion to remove a cervical collar from a  
16 patient like Darren Porterfield without a doctor's  
17 order?

18 A, Depending on the situation. Obviously,  
19 if you need immediate access to the neck where a  
20 patient's vomiting and drowning in his own  
21 vomitus, you may do something. But let's say as a  
22 general rule they would not have that discretion  
23 to discontinue cervical spine immobilization  
24 unless so directed.

25 Q. Could you tell from your record or any

**METROPOLITAN COURT REPORTERS, INC.**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A. I can't tell you whether he kept -- I don't see a specific order to take them off. I can't tell you whether they were taken off. As a matter of fact, I never see a specific order by the doctor to discontinue anything, so I can't tell you whether he gave an order or didn't,

Q. would that be the same thing with the cervical collar, that -- what I mean is with the removal of sandbags, is that something that should require a doctor's order?

A. well --

MR. ERICKSON: Objection. It misconstrues what Dr. Henry has already told you, or he indicated that there are lots of different ways of immobilizing a patient in a hospital and it doesn't need to necessarily be with sandbags.

A. Certainly we may -- we often remove the sandbags and just leave a tape or something like that on the head if we're sending a patient to x-ray because we're going to do a shoot-through of the neck, so we want as little scatter or as little absorption as possible, and so it is very common to leave people in a collar and remove the

METROPOLITAN COURT REPORTERS, INC. —

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 sample bags so that we can get a permanent picture of  
2 the mesh. So that's pretty standard.

3 Q. (By Mr. Mencl; You mentioned after the  
4 A, B, C's were done, then there's a secondary  
5 survey.

6 A. Yes.

7 Q. And maybe the chronology would be  
8 helpful for you. When exactly did Dr. Allim  
9 perform the secondary survey?

10 A. I think his secondary survey was  
11 performed as he was doing his primary survey, I  
12 mean, since they didn't have to surgically  
13 establish an airway. Since he was already taking  
14 breaths, since he had a pulse, then he has other  
15 physical exam findings on the chart. Now, I'm  
16 sure that he did -- it's hard to say which minute  
17 on a minute-to-minute basis that he actually did  
18 that, but that -- everything else is really  
19 secondary survey of the patient.

20 Q. Well, if a doctor does secondary survey  
21 as part of the primary survey and then two hours  
22 later checks back with the patient and does  
23 another survey, do you call that a secondary  
24 survey as well?

25 A. It's just a re-review of the patient.

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1 I mean, there's a primary and secondary survey  
2 Those are initial evaluations. It's two hours later  
3 he does something else, that's really a  
4 re-evaluation of the patient

5 Q As part of the secondary survey, is it  
6 important for an emergency room doctor to  
7 determine whether a patient like Warren  
8 Portfield has any movement of his extremities?

9 A. Well, certainly it's part of the  
10 process. I mean, clearly, in an awake patient,  
11 it's not a very difficult thing to determine. In  
12 a patient with altered mental status, it may be  
13 extremely difficult to determine because there is  
14 a clear difference between voluntary movement and  
15 involuntary movement, and involuntary movements  
16 are movements, but they're not under the control  
17 of the brain itself, so you can make a patient  
18 with a complete spinal cord injury move just since

19 Q. But you would have put some -- well,  
20 how would that happen with a complete spine --

21 A. Because you have a reflex. After all,  
22 the spinal cord reflex still works, and certain  
23 stimulation; I mean, if you actually take a  
24 patient who is paraplegic, quadriplegic, take  
25 their leg, you can push on the bottom of their

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 foot, and you can get a continuous motion, called  
2 a clonus. You can actually stroke the bottom of  
3 their foot and get a triple response leg  
4 withdrawal, so movement by anyone who is actually,  
5 you know, sophisticated in the discussion of  
6 movement would be divided between those things  
7 which are voluntarily done, and those things which  
8 are done at a reflex level. The problem with  
9 altered mental status patients or comatose  
10 patients is you don't know why they're moving  
11 something, so it's hard to say that they've got,  
12 quote, unquote, movement and decide whether it's  
13 voluntary or involuntary.

14 Q. What if a patient like Darren  
15 Porterfield was observed making random movements  
16 of his arms and legs without any stimuli, without  
17 any painful stimuli?

18 MR. ERICKSON: Hold on. I need to  
19 object. That asks the doctor to assume facts that  
20 aren't in this case, and that won't be in this  
21 case, or if they are, maybe you should give him  
22 more specific information as to what exactly about  
23 Darren you're asking. Or if you're asking in  
24 general, for some general comment that's not  
25 related to Darren, then don't include his name in

METROPOLITAN COURT REPORTERS, INC.

1 the question. The question for those reasons is  
2 unfair and vague and confusing.

3 Q. (By Mr. Mencl) Do you remember the  
4 question?

5 A. Yes, I do.

6 Q. Okay.

7 A. Again, it is impossible to comment on  
8 that without knowing the specific circumstances,  
9 because certainly patients who have a  
10 disconnection between their brain and their spinal  
11 cord can still have movements, even random-type  
12 movements. They can still have reflex movements  
13 going on, not generated by a stimulus, but not  
14 voluntary either, so I would have to actually know  
15 -- I would have to actually observe the movement  
16 to decide.

17 Q. Well, do you believe that Dr. Allin was  
18 able to determine that night whether Porterfield  
19 had movement of his extremities or not?

20 A. Not well, no.

21 Q. Not well?

22 A. I mean, he --

23 Q. What do you mean by "not well"?

24 A. Because the patient was -- he had just  
25 an altered level of consciousness that he would

METROPOLITAN COURT REPORTERS, INC.

1 not know, and was not able to determine whether  
 2 the patient had voluntary control of various  
 3 aspects because he couldn't ask the patient to  
 4 perform certain activities, so -- and, of course,  
 5 the spinal cord is regionally organized so that to  
 6 actually test all the motor abilities carefully, you  
 7 need, to some extent, the cooperation of the  
 8 patient, and clearly, clearly with altered mental  
 9 status, that was very difficult to do

10 Q. If Dr. Allin couldn't tell for sure  
 11 whether Warren Portersfield had movement of his  
 12 extremities, would it be important to assume a  
 13 spinal cord injury if that's the case, if he can't  
 14 tell?

15 MR. ERICKSON: Objection. The  
 16 question as~~X~~ about whether Dr. Allin could tell  
 17 for sure whether Warren Portersfield had movement,  
 18 and I think you're mixing apples and oranges,  
 19 John. The doctor here was just telling you the  
 20 difference between movement versus voluntary  
 21 movement. For that reason, I think your question  
 22 could be misconstrued or worse. I think he could  
 23 tell for sure that the guy was not moving. You  
 24 may not be asking him that.

25 MR. MENCL: What?

METROPOLITAN COURT REPORTERS, INC. \_\_\_\_\_

10100 Santa Fe Drive • Suite 110  
 Overland Park, Kansas 66212  
 (913) 383-3900 • 1-800-748-7511

1 MR. ERICKSON: What he said was  
2 that you can't test someone who was unconscious  
3 and competent to tell whether they have voluntary  
4 movement. You can observe movement but you can't  
5 tell what it means, and you just said the term  
6 movement, and you didn't include voluntary  
7 movement, and for that reason your question is  
8 confusing and misconstrues what he just told you  
9 in the previous answer. What's why I'm objecting  
10 to it.

11 A. And I do remember your question.

12 Q. (By Mr. Mencl) All right. Okay.  
13 Would you go ahead and answer it?

14 A. Yeah. I believe your question refers  
15 to what should be the assumption of an emergency  
16 physician if a patient, if you cannot tell what  
17 the movement status of that patient is, what  
18 should be your assumptions, what should be your  
19 rule-out and what should be your thought process,  
20 really.

21 Q. Exactly. Exactly.

22 A. Okay? So he's feeling about it is this  
23 what you should assume an injury until proven  
24 otherwise, maintain your basic immobilization and  
25 wait until the dust settles, and when the patient

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 wakes up or when you can get a better examination,  
2 then you can make a decision.

3 So what I would say is when the patient  
4 is in the emergency department, at that moment in  
5 time, their status is indeterminant. It has not  
6 been decided, so that I tend to -- it is my  
7 feeling that the standard requires that you don't  
8 draw any conclusions.

9 What you can say is I don't see a focal  
10 problem at this point in time, but I don't know,  
11 and that information then should be conveyed to  
12 the team that's going to take him over so that  
13 they can then follow up on that or check on that  
14 as necessary.

15 Now, we frequently see patients whose  
16 x-rays are initially thought to be normal who we  
17 immobilize, and later, when they wake up, find  
18 that they do have some problem. So this is not,  
19 not an uncommon situation.

20 Q. Is it your understanding that while  
21 Darren Porterfield was in the emergency room, that  
22 Dr. Allin never did rule out a cervical spine  
23 injury?

24 A. Not completely, no.

25 Q. , And if an emergency room doctor doesn't

1 rule it out completely, he should continue the  
2 immobilization, is that correct, of the cervical  
3 spine?

4 A. Yes I don't -- I think -- I don't  
5 think he should discontinue it.

6 Q. Okay.

7 E. And so I think that -- I mean, that's  
8 why there are trauma surgeons and people who will  
9 follow the case up who understand all the nuances  
10 of that, and I think that -- I think that you  
11 shouldn't make assumptions that you can't back up.

12 Q. Did you note in Nurse Ambrose's  
13 deposition a passage where she said that once  
14 Porterfield got to the HCU floor, there as a  
15 phone call made to Dr. Allin, and that Dr. Allin  
16 authorized the removal of the cervical collar?

17 MR. ERICKSON: Objection. That  
18 misstates what Nurse Ambrose said in her  
19 deposition, and --

20 MR. MENCL: How does it misstate  
21 it?

22 MR. ERICKSON: I don't think Nurse  
23 Ambrose said that she spoke directly to Dr. Allin

24 MR. MENCL: Well, I don't think I  
25 said that either

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC. \_\_\_\_\_

1 MR. ERICKSON: That's the way your  
2 question sounded.

3 MR. OLIVER: I think it is

4 MR ENCL: I said there was a  
5 phone call.

6 MR. ERICKSON: By someone who said  
7 they thought they talked to Mr. Allin. What is  
8 your question? Whether he remembers seeing that  
9 in the deposition?

10 Q. (By Mr. Mencl) Yeah. Do you remember  
11 seeing that passage in the deposition?

12 A. I remember understanding between these  
13 two propositions that there is a conflict of fact.

14 Q. Right.

15 A. Which no expert can resolve.

16 Q. Exactly That's for the jury.

17 A. That's right.

18 Q. Right.

19 A. Okay. So what I can say is that I  
20 found nothing -- no one can testify that they  
21 actually spoke to Mr. Allin. I know that there is  
22 a discussion of some type of phone call to some  
23 source who thinks that was what was said. Now, I  
24 find nothing -- I find no order written to  
25 discontinue the immobilization, and I find no one

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511



1 who actually spoke to Dr. Allin. I am aware of  
2 this conflict of fact in the record.

3 Q. Right.

4 A. And I can't resolve that.

5 Q. Let me ask **you** to assume for the sake  
6 of discussion, assume that there was in fact a  
7 phone call that night from an **ICU** nurse to Dr.  
8 Allin, and if Dr. Allin indeed did tell the nurse  
9 that they could take the collar off, wouldn't that  
10 be below the appropriate standard of care?

11  
12 question to the extent that it asks the doctor: to  
13 assume facts that aren't in evidence, that won't  
14 be in evidence, and also to the extent that it  
15 asks the doctor to specifically not assume that  
16 perhaps that conversation did not take place or  
17 that the nurses talked to somebody else, and that  
18 maybe you should have sued somebody else here,  
19 John.

20 MR. MENCL: Can you -- will you  
21 read back the question?

22 A. I understand the question. Assuming'  
23 all the caveats just mentioned --

24 MR. ERICKSON: Also, I need to add  
25 another objection now. You leave out the question

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1       about whether that had any bearing on this case  
2       when you asked him about whether there was a  
3       deviation from the standard of care, which I think  
4       also is something that needs to be included in his  
5       answer.

6           Q.        (By Mr. Mencl) You can go ahead and  
7       answer.

8           A.        Again, assuming all caveats, which are

9           Q.        By caveat -- what I want you to assume  
10       are the facts that I just gave you.

11          A.        Right. And as added to or at least  
12       amended by defense counsel, all these other  
13       various factors, I can say this. That the act of  
14       not maintaining the cervical spine immobilization  
15       until one is comfortable with cervical spine  
16       evaluation falls below the standard of care. Now,  
17       by that answer, I do not mean to imply a causative/  
18       or a proximate cause relationship between that  
19       violation of the standard and any harm that may  
20       have befallen Mr. Porterfield.

21          Q.        Okay.

22          A.        You know, I'm not saying that there was:  
23       any relationship between --

24          Q.        Well, I didn't ask -- yeah, right. I  
25       didn't ask you about the causation of it.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1           A.       Right.

2           Q.       Just so I can get a clean, sort of  
3 clean answer on the record, if there was a phone  
4 call to Dr. Allin when Darren Porterfield got to  
5 the ICU floor, and if Dr. Allin authorized the  
6 removal of a cervical collar, based on the records  
7 that you have reviewed, would that be below the  
8 standard of care?

9                   MR. ERICKSON: Same objections as I  
10 previously stated with regard to asking him to  
11 assume facts that aren't in the case and to  
12 specifically exclude facts or other alternatives  
13 that may have occurred, and also that the question  
14 leaves out an important issue of causation.

15          A.       Yes. It would fall below the standard  
16 to remove cervical spine immobilization until one  
17 felt comfortable with the cervical spine.

18          Q.       (By Mr. Mencl) Okay. Did Dr. Allin  
19 ever feel comfortable with removing immobilization  
20 from Mr. Porterfield's C-spine?

21          A.       No, not according to his own deposition  
22 testimony.

23          Q.       would it be important if an emergency  
24 room doctor had not yet cleared the C-spine to  
25 clearly communicate that fact to the next

1 physician who was going to take charge of the  
2 patient?

3 A. Well, I think it's important to convey  
4 to him what you have done to a certain point in  
5 time, what you obtain. That physician will then  
6 decide whether he considers it adequate or  
7 inadequate as he manages the patient in the  
8 hospital. Some physicians may want more or fewer  
9 x-rays. Some may want special views. I think  
10 that you -- I think you have an obligation to tell  
11 them what you have done, and whether you think  
12 that's adequate or inadequate is going to be the  
13 judgment of the physician assuming control of the  
14 case, because he then must take responsibility for  
15 the management of the patient.

16 Q. Well, if you're a board certified  
17 physician in emergency medicine, and the next  
18 physician is not board certified in emergency  
19 medicine, is there anything that would be  
20 inappropriate in an emergency room doctor giving  
21 his opinion as to what should be followed up on?

22 MR ERIC SON: Wait. I need to  
23 object to that question because it's irrelevant  
24 you're switching it around and asking is there  
25 anything wrong with him giving additional

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 information. That's not the issue in this case,  
2 John. The issue is whether what he did met the  
3 standard of care, not whether it would have been  
4 okay to do more. Also, your question is flawed in  
5 that it seems to assume that at certain points in  
6 time patients get transferred from one board  
7 certified emergency room physician to another  
8 board certified emergency room physician, which I  
9 suspect doesn't happen as a matter of practice.

10 Q. (By Mr. Mencl) Let me approach it this  
11 way. With a patient like Darren Porterfield,  
12 based on the records you have reviewed, based ,on  
13 the fact that he had a lateral C-spine and an AP  
14 view, and those are the only imaging views of the  
15 cervical spine, based on the fact that there's  
16 nothing written in the chart about immobilization,  
17 within the appropriate standard of care, what  
18 should be communicated to the next physician?

19 A. Exactly what you've done.

20 Q. Which would be what in Mr.  
21 Porterfield's case?

22 A. These two views that have been  
23 obtained. Immobilization has been maintained.  
24 That at some point in time the next physician will:  
25 reevaluate the patient, take a look at the patient

1 and decide whether further studies are required

2 Q Well, in your opinion, to clear the  
3 cervical spine in somebody like Mr. Portersiel,  
4 would those two views alone be sufficient?

5 A. As a matter of fact, to actually, to  
6 fully clear the C-spine requires the combination  
7 of both history, physical and the radiographic  
8 studies so that, no, those two views are not  
9 adequate to fully penetrate the cervical spine as  
10 a causal problem.

11 Q. But just focusing on the radiographic  
12 aspect, are additional views required to  
13 radiographically clear a cervical spine?

14 A. Yes. To completely clear it, yes.

15 Q. What additional views?

16 A. One usually considers the open mouth  
17 odontoid as important That allows you to look at  
18 the lateral masses of C2 -- C1, rather, as they  
19 sit in relationship to C2. You need to see  
20 obliques. Now, there is a debate as to how  
21 important the obliques really are, but between an  
22 AP, open mouth odontoid, lateral and the oblique,  
23 those are the usual three used which are obtained,  
24 understanding the fact that you could still have  
25 cervical spine injury and no finding on those

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 xilms.

2 Q. What about i instwap oz an odontoiw  
3 wipw and an o4lique, Porterxiplw would havw haw a  
4 CT scan of thw cerwical spinw? Would that haww  
5 been okay too? I mwan, would that 4w another way  
6 to --

7 A. Well --

8 MR. ERICSON: Wait a second. I  
9 need to --

10 Q. (pww Mr. Mencl; Would that ww another  
11 way to radiographically clwar thw C-spinw?  
12 MR. ERICSON: Is your qwapstion,  
13 can you clear tbe C-spinw by doing a CT scan?

14 Q (pww Mr Mencl) Well, my question, I  
15 guess is prezacd on thw fact that it's -- I think  
16 you're saying that you can clear tbe C-spinw in  
17 morw than onw way iz you haww swfzicilent planw  
18 xilms, and I guess what I am asking is iz you hawe  
19 CT oz the neck, is that a prezwrnt way to clwar  
20 -- is thwrw morw than onw way to skin a cat, I  
21 guess is what I'm asking.

22 MR. ERICKSON: I need to object to  
23 that question sincw wwrw not talking about  
24 skinning cats.

25 MR. OLIVER: No foundation.

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 MR. ERICKSON: John, I want you to  
2 ask a straightforward question that makes sense.

3 MR. MENCL: You keep objecting as  
4 if my questions don't make sense.

5 MR. ERICKSON: Well, you stopped  
6 that last question about halfway through your  
7 sentence and were expecting Dr. Henry to answer,  
8 and then you never asked him another question that  
9 was straightforward and made sense.

10 Q. (By Mr. Mencl) Dr. Henry, if Darren  
11 Porterfield's cervical spine would have been CT  
12 scanned on Friday night, would that have been an  
13 appropriate way to radiographically clear the  
14 C-spine?

15 A. Well, it may have been a way to do it.

16  
17  
18 why we have myelograms. We have MRI's and we have  
19  
20 is another approach that could be used. We don't  
21 usually use that approach in trauma patients early/  
22 on simply because of immobilization questions and  
23 the patient has to be perfectly still, and there  
24 are a lot of other questions which arise,  
25 particularly with patients who are intoxicated,

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1 But it is a method which can be used. Probably  
2 the most regional method is just maintaining  
3 immobilization, waiting until the patient settles  
4 up and trying to get cooperation and trying to get  
5 proper films is my usual method of doing things

6 Q. Did you see in the records where Warren  
7 Portersfield had a CT scan of his head, chest and  
8 abdomen?

9 A. Yes.

10 Q In your opinion, was there any medical  
11 reason that contraindicated doing a CT scan of his  
12 neck?

13 A. Contraindicated it?

14 Q. Right.

15 A. Well, only that you've got the patient  
16 immobilized, you basically don't want them to  
17 spend more time than they have to be at the CT  
18 scanner, particularly when they're intoxicated or  
19 have altered mental status, because obviously,  
20 you're not minute to minute with the patient,  
21 then, because they have to be in the scanner, so  
22 there are good medical reasons for not spending a  
23 lot of time doing the scan

24 Q How much time does it take to do the CT  
25 scan?

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1           A.     It depends on the generation scanner  
2           that you have and how the images are being  
3           produced and what kind of cuts you are making,  
4           because you can produce, to do refined cuts,  
5           looking for very subtle things, subluxation, for  
6           example, requires you to do very careful sections,  
7           looking, so it can take considerable time.

8           Q.     Assume you're doing point-five  
9           millimeter cuts.

10          A.     Yeah. The patient may have to be in  
11          there for another 15, 20, 25 minutes.

12          Q.     How long does it take to do a CT of the  
13          abdomen?

14          A.     Again, depends on how many cuts. He  
15          may be in there for 10 minutes, 15 minutes.

16          Q.     Can you specifically tell me what it  
17          was about Darren Porterfield's medical condition  
18          that contraindicated doing a CT scan of his neck?

19          A.     Well, that's the reverse of how we  
20          usually view things in medicine. It would be --  
21          because there is no contraindication of me having  
22          one right now. The question is, is there an  
23          indication for me to have one right now.

24          Q.     And those are two different things;  
25          right?

\_\_\_\_\_  
METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 A. Right. They're totally different  
2 views. I mean, I can tell you that there is one  
3 specific thing which contraindicated it. By the  
4 same token, I can tell you that as a usual course  
5 of practice, we do not -- we do not do them  
6 without strong indication. And so it's just  
7 viewing the situation from two different --  
8 Q. Haven't you ever had patients who had  
9 been in a car accident, thrown through a  
10 windshield, ejected from a car, where you did a CT  
11 of their head and a CT of their neck at the same  
12 time?

13 A. I --

14 MR. ERICKSON: I need to object to  
15 that because what he's done in taking care of his  
16 patients is totally irrelevant to this case. The  
17 facts might be altogether different what he's  
18 just told you, John, is you look at what somebody  
19 requires in the way of medical treatment, not what  
20 how can possibly do under circumstances.

21 Q. (By Mr. Mencl) Thank you. May I just to  
22 make an objection. I think going into your  
23 background and experience, I think is something  
24 I'm entitled to do. Do you remember the question

25 A. Yes. The answer is yes, I have done

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 both, but it would depend on the findings of the  
2 patient at a particular point in time, and there  
3 are those who would argue that the CT of the neck  
4 has both pluses and minuses compared to the actual  
5 plane films, so it is a -- since it is a process  
6 of looking at bones, x-ray probably is better at  
7 looking at bone than CT. Where you're talking  
8 about intracranial contents, i.e., the brain  
9 itself, CT is a far superior way of looking at  
10 intracranial contents, so they are not equivalent  
11 studies, nor do they carry with them the same  
12 specificity and sensitivity in the two areas, and  
13 so it's a -- it is a -- it is a much more complex  
14 question than assuming that the CT will pick up  
15 all findings because it does not. It has a miss  
16 rate which may be equal to or greater than plane  
17 films.

18 Q. Are you aware that Porterfield had a CT  
19 a couple days later of his neck?

20 A. Yes.

21 Q. Didn't that show multiple fractures in  
22 the cervical spine?

23 MR. ERICKSON: Objection. I think  
24 that misstates what they found on the CT. It just  
25 sort of generalizes. If you want --

1 Q. (By Mr. Mencl) It does generalize it.  
2 Do you recall --

3 A. I recall that he had one, and --

4 Q. Do you recall seeing the report?

5 A. Yeah. And that -- I recall seeing the  
6 report. And it just so happens in that case, it  
7 did pick up some abnormalities, but it should not  
8 be assumed that the CT scan is a superior method  
9 of looking at the cervical spine than well-done  
10 plane films because that is really not correct.  
11 It doesn't -- it is not the equivalent of  
12 comparing skull films, for example, to CT's in the  
13 brain. They're totally different kinds of  
14 processes.

15 Q. Well, was there anything that, say  
16 medically contraindicated doing obliques, oblique  
17 plane films on Porterfield Friday night?

18 A. Yeah. Well, oblique plane films are  
19 usually done with the cooperation of the patient.  
20 You know, and -- and having them turn at certain  
21 directions. Obliques are very difficult to get in  
22 somebody who is in a collar. I am not -- I am not  
23 a strong advocate of the obliques in somebody who  
24 is in a collar just simply because it's difficult  
25 to position the patient correctly.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

Q. I notice you've been provided with the deposition here of Dr. Brooks.

A. Yes. That's the first radiologist?

4 Q. By the way, have you looked at any of  
5 the films in this case, the imaging films?

6 A. Yes.

7 Q. Where are they? You didn't bring them  
8 with you?

9 A. No. They were brought the first time I  
10 met with defense counsel.

11 Q. And you weren't given a copy?

12 A. I wasn't given a copy. I looked at the  
13 films.

14 Q. Do you remember looking at the initial  
15 lateral film?

16 A. Yes.

17 Q. Did you see any abnormalities in that  
18 film?

19 A. Well, it's almost an unfair question  
20 because I knew the end of the play. I mean, I  
21 looked at that film, and, you know, it's always  
22 easy once you know the answer to figure out  
23 exactly where the murder is in Agatha Christy  
24 novels. Since I knew the outcome, I could say,  
25 well, is that a questionable -- I would say this.

**METKOPOLITAN CQUKT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 That when I looked at that, to have missed or to  
2 have not been able to read the abnormality in that/  
3 film, to me, would not have constituted a  
4 violation of the standard of care. I mean, it's  
5 very subtle question. Again, it's always nice to  
6 know the answer. If you put up 100 of those films  
7 to look at, would I pick out that one? The answer  
8 is probably not, no.

9 Q. And I assume in your practice you  
10 probably look at a lot of cervical spine films.

11 A. I looked at about five last night.

12 Q. For a radiologist like Dr. Brooks who  
13 practices at Providence-St. Margaret Hospital,  
14 would you look at roughly probably the same number  
15 that he does?

16 MR. ERICKSON: Wait a second. That  
17 calls for total speculation on his part, First of  
18 all, you're asking him, Dr. Henry, to compare his  
19 practice to a radiologist's, and then you're'  
20 talking about a radiologist in a different city  
21 that he doesn't know anything about.

22 MR. MENCL: I don't know if he  
23 knows anything about it or not.

24 MR. OLIVER: I can't help because I  
25 don't know how many films he looks at in a year,

—METROPOLITAN COURT REPORTERS, INC.—

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 John, so, you know, I mean --

2 MR. REILLY: I object. No  
3 foundation. No qualification. He doesn't know.

4 A. Yeah. I think that's fair. I don't  
5 know what he looks at.

6 Q. (By Mr. Mencl) Okay.

7 A. All I can comment on is I see a  
8 different selected group of films than a  
9 radiologist does. I mean, I see those people who  
10 have acute problems who come from the emergency  
11 department. I don't look at cervical spine films  
12 for osteophytic involvement and all kinds of other  
13 processes, so --

14 Q. Well, let me ask you this. When it  
15 comes to trauma patients in automobile accidents,  
16 do you read your plane films yourself, or do you  
17 always consult with a radiologist?

18 A. I always do the initial reading myself,  
19 but there is also an overread, a quality overread  
20 by the radiologists simply because the -- in the  
21 heat of battle in the emergency department, where  
22 you're really running, things, subtle findings can  
23 be missed, and so a quality overread is probably a  
24 desirable element from someone who is less  
25 immediately followed in the case.

\_\_\_\_\_**METROPOLITAN COURT REPORTERS, INC.**\_\_\_\_\_



day, or how much of a time lag is there?

A. It varies, If the radiologists are in the hospital, they may read them in 15 minutes. If it's after 5 o'clock, then they will read them the next morning, usually at 7 or 8 o'clock in the morning. Or if we have a serious question, they can be brought in that night to read the films with us. Actually, the new system, we actually television-send them to their homes.

Q. Is it important in a patient like Darren Porterfield for the emergency room physician to convey the mechanism of injury to the radiologist?

A. We usually just put trauma, and they understand what we're looking for. I mean, it --

Q. You wouldn't tell them specifically that this is a patient that was ejected?

A. No. No. I don't want to prejudice his view, I want him to read what's on the film. I've got a trauma film, and he really, his job is to look at that film knowing that it is trauma, and that's really all he needs to know, because it doesn't matter whether he fell off a two-foot step ladder or went through a car window. I mean, he's

1       supposed to read what he sees on the film.

2           Q.       Well, I would think certain mechanisms  
3 of injury would help a radiologist look for  
4 certain injuries that typically occur because of  
5 that injury.

6           A.       Well, there's a very strong school of  
7 thought that says the radiologist should have no  
8 information. He should just read exactly what he  
9 sees. After all, it's the job of the clinician  
10 taking care of the patient to take that reading  
11 and put it in context with the history and the  
12 physical to decide an outcome. I mean,  
13 overreading and underreading of films is a serious  
14 question in radiology. A lot of studies have  
15 looked at that issue. Do you prejudice the  
16 outcome of the film by giving them history? The  
17 answer is maybe you do.

18          Q.       In Porterfield's case, if you assume  
19 that Dr. Brooks looked at the C-spine films Friday,  
20 night and read them as normal, would it be  
21 appropriate for Dr. Allin to still continue the  
22 immobilization of the C-spine?

23                   MR. OLIVER: Let me object to the  
24 question, first of all as the interpretation of  
25 the films were read as normal. I think the

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1      evidence is not clear in the case whether the  
2      finding was normal or typical or all right or not  
3      grossly abnormal, and so pick and choose one of  
4      those words as being the specific word that was  
5      said, I think misstates the evidence

6                      MR. ERICKSON: Also, John, this  
7      question is repetitive. He's already told you  
8      about what films need to be taken.

9                      Q.      (By Mr. Mencl) You can go ahead and  
10     answer.

11                     A.      I think that if the emergency physician  
12     is told by the radiologist that the initial two  
13     films do not show a gross abnormality, I think the  
14     emergency physician could then use that  
15     information in making some decisions.

16                     One of the decisions, however, is  
17     usually not to remove -- should not be to remove  
18     the cervical spine immobilization, but it may mean  
19     that they don't have to put the patient in a  
20     traction device that night to realign the cervical  
21     spine. So the only information he gets from that  
22     is that at that moment in time they don't have to  
23     realign the cervical spine.

24                     So if, for example, there was a total  
25     dislocation noted, he would that night ask the

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC. \_\_\_\_\_

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1    neurosurgeons to cranX them down into halo, put  
 2    them 4x=X into line or something like that, so  
 3    that's the kind of information you get from a  
 4    limited review of the situation, and I think that  
 5    certainly with trauma patients, we understand the  
 6    fact that you're going to have to be -- you may be  
 7    sending them DX down to x-ray, getting other  
 8    films, clarifying the situation as new information  
 9    comes along.

10                    MR OLIVER: John, can we take a  
 11    break right now?

12                    (A short recess was taken.)

13                    Q.        (By Mr. Mencl, Doctor, if you  
 14    remember, before we took a break, the previous  
 15    line of questioning dealt with my asking you to  
 16    assume that Dr Brooks interpreted the lateral  
 17    C-spine film as normal on Friday night. But now  
 18    what I would like to ask you is that if Dr.  
 19    Brooks, is you assume that Dr. Brooks told Mr.  
 20    Allin on Friday night that there was straightening  
 21    of the cervical spine, no other definite  
 22    abnormalities are seen, if there is continued  
 23    clinical concern for cervical injury, however, I  
 24    would suggest at least repeating the lateral view  
 25    since there are artifacts superimposed upon the

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
 Overland Park, Kansas 66212  
 (913) 383-3900 • 1-800-748-7511

1 spinous process, in your opinion should that have  
2 made any difference in Dr. Allin's course of  
3 management of Darren Porterfield?

4 A. First in answering his question, I am  
5 to assume that what was written on the written  
6 copy is what he gave him orally.

7 Q. Right. Right.

8 A. Having dealt with radiologists my  
9 entire professional career, you know, it's a very  
10 difficult assumption. The second thing is this  
11 should not change what he's going to do. What the  
12 radiologist has basically said is that we don't  
13 have a complete study, that if clinical condition  
14 warrants that we ought to consider other things,  
15 you can't tell the clinical condition yet because  
16 this patient hasn't woken up, so I think what this  
17 is, is putting the physician on alert that  
18 although there is no definite abnormality at this  
19 time, and I think he comments in the body of the  
20 report that this may be due to muscle spasm or  
21 positioning.

22 The other thing is the artifacts which  
23 he notes could certainly be from the collar itself  
24 or from the immobilization setup, so that at some  
25 future point in time, if it warrants that you need

METROPOLITAN COURT REPORTERS, INC.

1 to re-examine the patient, I think that none of  
2 that would change, or should change what Mr. Allin  
3 does

4 Now, this is a very standard pattern  
5 which lets us know that there may be nothing you  
6 have to do at this moment; however, it does not  
7 totally exonerate the cervical spine as a  
8 potential source of problem should other symptoms  
9 arise.

10 Q. As part of a clinical concern, though,  
11 that phrase is used in that report, clinical  
12 concern, isn't part of clinical concern, though,  
13 the principle, assume a cervical spine injury  
14 until you can rule it out?

15 MR. ERICKSON: Objection. That  
16 question makes no sense.

17 Q. (By Mr. Mencl) Is that --

18 A. I think you would read huge amounts of  
19 things into this. It says if there is continued  
20 clinical concern, that is a brainstem reflex  
21 taught to all radiologists when they dictate  
22 reports, and basically what it says -- it doesn't  
23 pick out any specific problem. What it says is,  
24 is you think there is something else going on, we  
25 need to more clearly define the films, but he's

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 not sending any particular message, at least  
2 that's not my interpretation, of what -- I can't  
3 look inside the heart and mind of that  
4 radiologist, but that is a very -- that is the  
5 standard disclaimer which really says, you know,  
6 the x-ray isn't the patient, If you think there  
7 is still something wrong, there's something wrong.

8 Q. Do you have any opinion that Dr. Brooks  
9 should have interpreted the film differently than  
10 he actually did?

11 MR. OLIVER: Let me object before  
12 Dave objects as lacking foundation in this witness  
13 to testify as to the standard of care of a  
14 radiologist. I don't think he's been proffered  
15 for that purpose.

16 MR. ERICKSON: That was exactly the  
17 point of my objection, John. We've listed Dr.  
18 Henry as an emergency room physician on the  
19 standard of care of Dr. Allin, and also he h'as  
20 some opinions on causation. He is not a  
21 radiologist, and we haven't listed him as an  
22 expert witness on the standard of care for  
23 radiology.

24 Q. (By Mr. Mencl) I guess in light of Mr.  
25 Erickson's comments, it is my understanding you

1 don't intend to come to trial and testify to any  
 2 criticisms of anything that Dr. Brooks did or  
 3 didn't do.

4 A. I do not intend to speak to the  
 5 standard of care of a radiologist. I mean, I  
 6 understand the -- I can speak to the standard of  
 7 care of the interaction between an emergency  
 8 physician and a radiologist, how they communicate,  
 9 but as to the technical reading of a film by a  
 10 radiologist, I would not speak to that issue.

11 Q. Let's talk about the communication  
 12 between Dr. Allin and Dr. Brooks that night. Is  
 13 there anything about that communication that you  
 14 are critical of Dr. Brooks about?

15 A. No. I mean, if two films are obtained,  
 16 and he has these representations, then two films have  
 17 been obtained. In no place that I have seen.  
 18 Practice is that consideration to do a complete  
 19 cervical spine series, and he's saying the fact  
 20 that this is not a complete series, I certainly  
 21 can't give you a clean bill of health on the  
 22 cervical spine, then that's what Dr. Brooks is  
 23 requiring to do.

24 Q. You're not saying that Dr. Brooks  
 25 should have told Dr. Allin, well, look, let's have

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511



1       some more films right now before the patient goes  
2       to ICU.

3           A.       No, He can shoot the films right then  
4       if he wants to, I mean, he's in the radiology  
5       suite. He can shoot more films if he wants to.

6           Q.       Well, I believe these films were  
7       portable films.

8           A.       Right. But he can certainly call up  
9       and say, let's shoot them now. But the point is,  
10      most radiologists leave that kind of  
11      discretion-making to the clinician. I mean, a  
12      radiologist doesn't -- really doesn't treat this  
13      kind of stuff, and what he's going to say is, I  
14      don't have a complete series to clear you. There  
15      may be other things going on. This guy could be  
16      dying, I mean, so it depends on what the priority  
17      is at that moment in time.

18          Q.       I think at the time Brooks looked at  
19      the C-spine films and had a conversation with  
20      **Allin**, and Brooks was looking at the CT scans of  
21      the head, chest and abdomen.

22                   MR. ERICKSON: So what's your  
23      question? That wasn't a question you said, John.  
24      You told us what you thought.

25          Q.       (BY Mr. Mencl) Back to talking about

**METROPOLITAN COURT REPORTERS, INC.**

1 Dr. Brooks and Dr. Allin. If you assume that Dr.  
2 Brooks was looking at CT scans of the head, chest  
3 and abdomen, and that he looked at the lateral and  
4 AP film on Darren Porterfield, in your opinion was  
5 there anything that Dr. Brooks should have  
6 communicated to Dr. Allin that he didn't?

7 MR. OLIVER: I'm going to again  
8 object to the form of the question. I think  
9 you're now getting into what the standard of care  
10 of a radiologist is with regard to his duties and  
11 obligations and not what the communications are  
12 between these two services.

13 MR. ERICKSON: Same objection.

14 Q. (By Mr. Mencl) You can go ahead and  
15 answer.

16 A. It seems to me that if Dr. Brooks  
17 conveyed which studies were done and what those  
18 studies showed, then he -- then at least the  
19 interface between the doctor, the radiologist and  
20 the emergency physician has been satisfied. After  
21 all, it's not his job to decide what the  
22 priorities are at any one moment. It's his job to  
23 say what is on the films, and are they a complete  
24 series or aren't they. It seems to me he's  
25 conveyed that.

1 Q. Wouldn't Dr. Allin be aware of what a  
2 complete cervical spine series consists of if he's  
3 the emergency room physician?

4 A. Yes. I'm sure that Dr. Allin  
5 understands what constitutes a complete cervical  
6 spine series.

7 MR. OLIVER: John, let me also make  
8 a further objection to this line of questioning.

9 MR. MENCL: I'm going to get off  
10 it.

11 MR. OLIVER: In case you get back  
12 on it, you have assumed throughout this that there  
13 is some prevalent national standard of care with  
14 regard to communication between a particular  
15 physician and a particular radiologist, and I'm  
16 not sure there is any evidence of that or that  
17 there will be, so I will object to your line of  
18 questioning. It makes that assumption.

19 Q. (By Mr. Mencl) If you recall, Dr'.  
20 Allin also had a conversation that night with a  
21 Dr. Kuebler --

22 A. Yes.

23 Q. -- who was a thoracic surgeon.

24 A. Yes. General thoracic surgeon.

25 Q. Based on your recall of their

**METROPOLITAN COURT REPORTERS, INC.**

1 conversations from reviewing the positions, in  
 2 your opinion should Mr. Kuebler have done anything  
 3 that he didn't do, or is this the same --

4 MR. ERICKSON: Yeah. I mean, we  
 5 have listed him as an emergency room physician to  
 6 give opinions on standards of care of emergency  
 7 room physicians. We're not having him give  
 8 opinions of Mr. Kuebler. Your own reports have  
 9 already criticized Mr. Kuebler. Okay? I think  
 10 he'll probably give you the same answer that he  
 11 just gave you, which is he knows about the way  
 12 that emergency room physicians talk to other  
 13 doctors, but we're not listing him as an expert  
 14 against Mr. Kuebler. We don't need to do that in  
 15 this case. There's already many experts that have  
 16 testified against Dr. Kuebler.

17 MR. OLIVER: Any more. We don't  
 18 need to anymore.

19 MR. MENCL: Well, with that  
 20 representation, I won't ask him whether he has any  
 21 criticisms of Mr. Kuebler's care because you won't  
 22 be soliciting that at trial.

23 Q. (By Mr. Mencl) Who did the management  
 24 of Warren Torterfield switch from Dr. Allin to Dr.  
 25 Kuebler?

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC. \_\_\_\_

10100 Santa Fe Drive • Suite 110  
 Overland Park, Kansas 66212  
 (913) 383-3900 • 1-800-748-7511

1           A.     When Dr. Kuebler accepted this trauma  
2     case.     My understanding is Kuebler was the trauma  
3     surgeon on call, and that when Dr. Kuebler  
4     accepted the phone call, got the phone call and  
5     accepted the patient on his service, at that  
6     moment in time, the management of this case  
7     shifted.

8           The emergency physician was to be in a  
9     position to move on to the next case that's in the  
10    department. He can't be expected to practice  
11    medicine for several hours in the hospital on an  
12    ongoing basis, so that's why when a phone call  
13    is made, the decision is made to admit, Dr.  
14    Kuebler then bears the responsibility for  
15    decisions made about his patient in the intensive  
16    care unit.

17           Q.     And when was that?

18           A.     I believe the phone conversation and  
19    the acceptance of admission was somewhere around  
20    8:30 in the morning. I would have to actually  
21    look at the record, but I think that's pretty  
22    close.

23           Q.     On the first page of Exhibit 2,  
24    chronology, about midway down there is a -- I see  
25    a notation that says, 'Referred to Dr. Kuebler on

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 11-21-87 at 0210." Do you see that?

2 A. Yes.

3 Q. Would that be 2:10 a m., in the  
4 morning?

5 A. Yes.

6 Q. Is that your understanding of what the  
7 records show?

8 A. Yes. That's when the contact was made

9 MR. ERICKSON: John, just to --

10 Q. (By Mr. Mencl) Would it be that point  
11 in time when the car would transfer the  
12 management of the patient?

13 A. Yes. And I would make this  
14 clarification, that the ongoing management of a  
15 patient has then been transferred. Now, should  
16 the patient have a sudden deterioration in the  
17 emergency department prior to movement to the  
18 floor, the emergency room physician still has an  
19 obligation to reassess the patient and take over  
20 care at that moment in time, and there certainly  
21 can be areas of overlap when two physicians are  
22 simultaneously managing a case, but once the  
23 patient has been accepted on the service and is  
24 moved to the ICU, that patient is now the patient  
25 of Dr. Koppers

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 Q. Who wrote the admitting orders?

2 A. I think the initial orders were written  
3 by Dr. Allin.

4 Q. So Dr. Allin would be responsible for  
5 those orders.

6 A. He's responsible for the orders in that  
7 it allows the patient to enter the ICU with some  
8 directives. He's not responsible for the ongoing  
9 care of the patient in the ICU, but he is  
10 responsible for that initial admission rate and those  
11 sorts of things.

12 Q. Did you rely on this chronology in  
13 formulating your opinions?

14 MR. ERICKSON: John, this is as  
15 good a time as any to make a statement. I think  
16 we've already given you the chronology, and I am  
17 under the impression, I think that one of the  
18 paralegals prepared that chronology. It is not a  
19 chronology in a summary fashion, I don't think.  
20 What I instructed the paralegal to do was to simply  
21 put together in typewritten form the medical  
22 records. It's not any attempt to summarize it  
23 we've given you the chronology months ago, and if  
24 is there is an error that you are aware of, just  
25 tell him. It's simply to make the chart more

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 legible, frankly.

2 MR. REILLY: I think you're going  
3 to find the doctor may have looked at the  
4 chronology, but he probably read the chart  
5 himself, but you may as well ask the doctor.

6 A. I did not rely on the chronology for  
7 the facts of this case. What it did was allow me  
8 to clarify some handwritten materials which were  
9 difficult to read, and I basically verified things  
10 throughout the chart, so the chronology was useful  
11 in clarification. I did not depend on it for any  
12 factual information.

13 Q. (By Mr. Mencl) Did you notice any  
14 errors in the chronology?

15 A. None that stick out in my mind at this  
16 moment. There may be some, but it did not stick  
17 out in my mind.

18 MR. REILLY: Was the phone call  
19 later than that, John? Do you want the doctor to  
20 look at the chart to verify the call to Dr.  
21 Kuebler?

22 MR. MENCL: That's not particularly!  
23 what I was thinking of.

24 MR. ERICKSON: If there is --

25 MR. MENCL: The initial --

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1 MR. ERICKSON: Wait a second. I  
2 need to make some objection. If you are aware of  
3 some error in this chronology that was prepared by  
4 my paralegal and you're somehow trying to trick or  
5 trap this witness, that's an unfair question  
6 because he didn't prepare it. He said he hasn't  
7 relied on it, and I'll take credit for my  
8 paralegal. If you have a question about the  
9 chronology or are aware of some error, I think you  
10 should point it out to the witness.

11 MR. MENCL: You're talking about my  
12 obligations. I think you ought to give him  
13 something that's accurate.

14 MR. ERICKSON: Is it not accurate?  
15 If it isn't --

16 MR. MENCL: You tell me. You tell  
17 me if it's accurate.

18 MR. ERICKSON: I don't know. I  
19 told somebody to prepare it to make it easier to  
20 read.

21 MR. REILLY: Gentlemen, gentlemen,  
22 Q. (By Mr. Mencl) Doctor, do you recall  
23 seeing in the records a late entry written by a  
24 nurse regarding the removal of the C collar?

25 A. Yes.

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC. \_\_\_\_\_

1 Q. Did you place any particular  
2 significance on the fact that that was noted as a  
3 late entry?

4 A. I have difficulty in dealing with it  
5 because it -- because it's not written as a  
6 doctor's order. It's written in a nursing note,  
7 not the doctor's order, so there is no specific  
8 order given. So in that regard, I have trouble  
9 dealing with it.

10 After all, if he -- if someone had  
11 talked to him and said, you know, give him a  
12 thousand CC's of normal saline, that would have  
13 been written as a doctor's order for co-signature,  
14 for voice order, doctor so and so, to be properly  
15 signed. So I have trouble only in that I don't  
16 know what to make of it. Obviously, it is  
17 inconsistent with other testimony, so again, it is  
18 open as a fact question to me.

19 Q. We've been, in a roundabout way, '  
20 discussing your opinions on the appropriate  
21 standard of care and whether Dr. Allin's conduct  
22 fell within that standard. Are there any facts'  
23 that you feel are very important in bearing on Dr.  
24 Allin's appropriate care that we haven't talked  
25 about?

1 MR. ERICKSON: Objection. That  
2 question is totally vague and ambiguous and unfair  
3 to the witness.

4 MR. MENCL: It's a little broad.

5 MR. ERICKSON: A little?

6 MR. REIDLY: John, do you mean by  
7 that, what do you think he did right? Is that  
8 what you mean?

9 MR. ERICKSON: There's lots of  
10 facts in this case, and you can look at them all  
11 different ways, and it's just a vague and  
12 ambiguous question that's incapable of being  
13 answered.

14 MR. OLIVER: Also assume that  
15 there are some facts that aren't significant or  
16 important.

17 MR. ERICKSON: Ask him a different  
18 question.

19 MR. MENCL: I'm just fishing for  
20 anything --

21 MR. REIDLY: I object to fishing.

22 MR. ERICKSON: Fishing is not  
23 allowed. You have to ask specific questions.

24 A. There's a question on the table you  
25 want answered?

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 Q. (By Mr. Mencl) Yeah, sure, if there is  
2 anything in particular.

3 A. Yes. I think that my opinion has not  
4 changed. I think that he comported with the  
5 standard of care and that the unfortunate outcome  
6 of Mr. Porterfield is in no way proximately  
7 related to any action or inaction on the part of  
8 Dr. Allin.

9 Q. Right, okay. Well, that kind of jumps  
10 us over to the area of causation.

11 MR. OLIVER: No. I think that  
12 pretty much takes care of causation, John.

13 Q. (By Mr. Mencl) Is it your  
14 understanding that Mr. Porterfield now is a C6  
15 level incomplete quadriplegic?

16 MR. ERICKSON: I haven't provided  
17 Dr. Henry with a lot of information about the  
18 plaintiff's current condition. I'll just tell you  
19 that. You can see what I've sent to him.

20 Q. (By Mr. Mencl) Is it your  
21 understanding that on Sunday Mr. Porterfield  
22 showed signs of paralysis?

23 A. Yes.

24 Q. Do you have any opinion that the  
25 paralysis occurred prior to the emergency room or

METROPOLITAN COURT REPORTERS, INC.

1 occurred at some point after Mr. Porterfield was  
2 in the emergency room?

3 A. The injury which led to the paralysis  
4 occurred at the moment that the accident he was in  
5 took place. He had a destabilized cervical spine.  
6 He had a dislocation and relocation. That's why  
7 the initial lateral spine film actually **looks** as  
8 good as **it** did, because what happens is the  
9 patient's -- the -- they dislocate, they move  
10 forward, they bang the spinal cord, and then they  
11 have a reflex return action. So he received his  
12 initial damage to the spinal cord at that moment  
13 in time.

14 It's not that he had a continuing piece  
15 of bone sticking into the cord, because that  
16 clearly is not the case. What he suffered was a  
17 contusion to the spinal cord with result in  
18 ischemia of the spinal cord, which led to the  
19 deficits he now has, and --

20 Q. Are you saying that the ischemia  
21 produced delayed onsets of symptoms?

22 A. Oh, **it** certainly can. Well, the thing  
23 is, you don't know exactly when because he was **not**  
24 of a mental status in the emergency department or  
25 throughout that night to properly test him, so you

1 wouldn't know. But there is no question about the  
2 fact that cervical spine trauma and the resultant  
3 swelling of the spinal cord, which is a very  
4 well-known phenomena, can lead to delayed onset of  
5 deficits, and just like if you banged your **leg**,  
6 tomorrow the swelling is worse.

7 Q. Well, do you see any evidence in this  
8 case that he had swelling of the spinal cord?

9 A. It is sort of -- I think that it would  
10 be without question that he did, that he had a  
11 traumatic blow to the spinal cord secondary to  
12 this subluxation dislocation, and it relocated,.  
13 There is no -- I don't think there is any question  
14 about that either. He came back into relatively  
15 good alignment, but that the physical force of  
16 taking the cord and doing that to it when the  
17 neural tissue is extremely sensitive, your brain  
18 and your spinal cord are extremely delicate  
19 tissues, and the resultant trauma and ischemia  
20 that goes with that is perfectly consistent with  
21 what's seen here.

22 I've certainly watched patients, who  
23 we've had normal alignments on, go on to terrible  
24 neurologic deficit, and there was nothing -- there  
25 wasn't a piece of intervertebral disc pushing

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 against the cord we could take out. There wasn't  
 2 any piece of bone. It was the result of the  
 3 direct trauma itself which causes the -- which  
 72  
 1 sort of action when those things broke, when those  
 2 -- when those facets went forward, you must assume  
 3  
 4 that broke it dislocated it, and that as you get  
 5 the return movement of the head, you can relocate  
 6 it.

7 Q. But couldn't that type of fracture  
 8 occur without spinal cord injury?

9 A. Let me say it would be relatively rare.  
 10 I mean, we get certain kinds of spinal fractures  
 11 with the actual body. We get fractures to the  
 12 body as a vertebra. That's not what happened in  
 13 this case. His fractures are in such a position  
 14 that you would assume that there must be a  
 15 dislocation process going along with it. I mean,  
 16 certainly it makes only good sense of physics.

17 Q. Couldn't it be a rotational injury?

18 A. Even if it's a rotational injury, it's  
 19 caused a rotation of the spinal cord, and the way  
 20 the spinal cord is set up, sort of embryologically  
 21 and from every other sort of method is that zones  
 22 of ischemia move from the inside of the cord out

23 so that when we have rotational injuries in the  
 24 cord, we have -- there's a syndrome called central  
 25 cord syndrome. There is also anterior cord

1 syndrome. These various cord syndromes are not  
2 related to direct pressure on the cord, but rather  
3 to zones of ischemia due to trauma with the cord.  
4 And, you know, it would be -- I think it strains  
5 credibility to think that this cord was not  
6 contused, twisted or strained during this  
7 accident.

8 Q. So is it your opinion that Darren  
9 Porterfield's paralysis was determined at least  
10 when he was in the car accident and nothing any of  
11 the doctors at the hospitals could have done would  
12 have made any difference?

13 MR. OLIVER: Let me object to the  
14 form of the question, John, before the doctor  
15 answers. When you say Darren's paralysis, you  
16 mean as of today, is it what he's suffering from  
17 today? If that's the paralysis you're talking  
18 about, you have not given him the subsequent  
19 history of changes of his neurological status'e,  
20 after the halo was applied and after the first  
21 fusion was attempted and failed and the second  
22 fusion was performed, because there were changes.  
23 in his neurological status in connection with  
24 those.

25 MR. ERICKSON: Also, John, we

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1 haven't sent those records to him and I have not  
2 asked Dr. Henry to comment on what Dr. Beatty did  
3 or what the course of his neurosurgical care was.  
4 He's simply telling you that in his opinion,  
5 Darren got hurt on Friday night in the car wreck.  
6 Simple as that.

7 Q. (By Mr. Mencl) The condition that he  
8 was in on Sunday, you do have those records?

9 A. Yes. And I understand your question.

10 Q. would that condition that he was in on  
11 Sunday, would that have occurred even with the  
12 best of care?

13 MR. REILLY: I object. He had the  
14 best of care. There is nothing that this  
15 physician, expert, has testified to, to indicate  
16 that he didn't have the best of care, perhaps  
17 absent the care by Dr. Kuebler, but there is no  
18 testimony from this witness that he didn't have  
19 the best of care.

20 MR. ERICKSON: Same objection.  
21 It's an argumentative question, and it  
22 misconstrues what he has told you for the last  
23 couple of hours.

24 MR. REILLY: I think what --

25 Q. (By Mr. Mencl) It's not your opinion

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(313) 383-3700 • 1-800-748-7511

1       that Darren Porterfield had the best of care by  
2       the doctors and nurses at the hospital. I mean,  
3       you're not saying that, are you?

4           A.       Wait a second. I'm commenting on the  
5       care given by Dr. Allin, which I find perfectly  
6       consistent with the standard of care.

7           Q.       But isn't that different than the best  
8       of care?

9           A.       The best of care, in my opinion, is  
10      having me see you, but unfortunately, there is  
11      only one of me.

12          Q.       That's my point.

13          A.       And I do not pretend that everyone can  
14      have that, Okay? So I give the benefit of my  
15      teaching and my writing, but I cannot lay fingers  
16      and hands on every patient. So assuming that the  
17      court system in no state that I know of requires  
18      the best of care, what they require is adherence  
19      to the standard of care. I would say that the  
20      standard of care has been adhered to.

21                   MR. ERICKSON: As he pointed out,  
22      John, he **is** only giving opinions on the standard.  
23      of care as they relate to Dr. Allin. That's what  
24      we've listed him for and that's all he's talking  
25      about.

\_\_\_\_\_**METROPOLITAN COURT REPORTERS, INC.**\_\_\_\_\_

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 Q. (By Mr. Mencl) My question is here,  
2 getting back to causation.

3 A. Right.

4 Q. What I want to know, in other words,  
5 was the dye cast for him to end up as paralyzed as  
6 he was on Sunday, November 22nd, the moment he was  
7 in the car accident?

8 MR. ERICKSON: Are you talking  
9 about as it relates to Dr. Allin? Did Dr. Allin  
10 do anything that influenced the outcome? Is that  
11 what you're asking him? That's different that  
12 what you're asking him. I'm telling you that  
13 you're asking him to comment on things that we  
14 haven't listed him on. We haven't listed Dr.  
15 Henry on things that happened or the standards of  
16 care that may or may not have been met by other  
17 physicians.

18 MR. REILLY: He may respond that --

19 MR. MENCL: I'm asking about  
20 causation. It doesn't matter whether it was  
21 something that the janitor did, it was an act of  
22 God or anything, but if a witness is going to  
23 testify on Causation, I mean --

24 MR. ERICKSON: He's told you what  
25 his opinion is. His opinion is that Dr. Allin met

**METROPOLITAN COURT REPORTERS' INC.**

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 the standard --

2 MR. MENCL: No, he hasn't told the  
3 opinion.

4 MR. ERICKSON: Let me finish my  
5 objection,

6 MR. MENCL: Just make an objection.

7 MR. ERICKSON: I'm objecting  
8 because you're being repetitive. You're arguing.  
9 This witness, the record will reflect, has already  
10 said in his opinion Dr. Allin met the standard of  
11 care and nothing Dr. Allin did or failed to do  
12 influenced the outcome in this case. Simple as  
13 that, and that's what he told you.

14 MR. OLIVER: John, the problem with  
15 your question, it was so broad that it includes in  
16 the causation question things that Beatty may have  
17 done or not done, things that Kuebler may have  
18 done or not done. It encompasses the entire  
19 hospitalization.

20 MR. MENCL: Just the three days.  
21 He's got the records and he's seen the  
22 depositions, and I don't see how he can give --  
23 just being fair to the witness, I don't see how he  
24 can give a competent opinion on causation unless  
25 you, you know, look at what happened to the

---

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 patient for those three days, and I think he's  
2 done that.

3 MR. OLIVER: He has.

4 MR. MENCL: If you'll let him  
5 answer the question.

6 MR. OLIVER: The last question you  
7 asked was not that limited.

8 MR. MENCL: Would you read back  
9 that last question,

10 (The requested portion of the  
11 record, Page 76, Lines 4-7, was read by the  
12 reporter.)

13 A. My answer to that question is, within  
14 the realm of reasonable medical certainty, that is  
15 the case, I am not excluding the possibility that  
16 there may be some other harm that might have been  
17 done to him that may -- there may be something  
18 that may have happened, but within the realm of  
19 reasonable medical certainty, he had damage **to** his  
20 spinal cord the night that the accident happened,  
21 and that all the king's horses and all the king's  
22 men were not going to fix that,

23 I mean, there's tremendous research  
24 going on in this, There was just some  
25 publications by the National Student Health by

1 high-dose steroid in spinal cord, would they  
2 reduce this kind of injury, but I think within the  
3 realm of reasonable medical certainty, considering  
4 his injuries, that you were going to have  
5 significant neurologic deficit in this patient.

6 Q. This question is along the same lines.  
7 Do you see any evidence in the medical record or  
8 in the depositions of any other causative factor?  
9 By that, I mean, other than the car accident, that  
10 contributed to Dr. Portfield's paralysis?

11 MR. ERICKSON: I need to object,  
12 for the same reason as previously stated. I  
13 haven't given him all the medical records. He  
14 doesn't know all of the details about what Dr.  
15 Battie may or may not have done. You've seen Dr.  
16 Betty's deposition and Dr. Cortom's deposition.  
17 And we've asked him to do is to do what he's  
18 already done, and you're asking him to ask  
19 questions and he doesn't have enough facts to tell  
20 you details.

21 MR. MENCL: Just in response to  
22 your objection, my question is focused on the  
23 first three days, from November 20th to November  
24 22nd, and I'm just trying to make sure you don't  
25 intend to come to trial and say that something

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 that happened after he left the emergency room  
2 caused his paralysis, or contributed to cause it  
3 in --

4 MR. ERICKSON: I'm not going to ask  
5 him that question at trial. Okay? He's given you  
6 his opinions.

7 Q. (By Mr. Mencl) Go ahead and answer.

8 A. I think what I said, to reiterate it,  
9 was that I cannot rule out the possibility that  
10 some other action may have taken place, not  
11 reflected in the records, which may have -- which  
12 may have aggravated or irritated the cervical  
13 spine. I can't say that that didn't occur. What  
14 I said was, from my training and experience,  
15 considering the type of forces involved in an  
16 ejection injury, and his type of injury, that  
17 these people, in the vast majority of cases, have  
18 a neurologic deficit which is not related to the  
19 actions or inactions of anyone in the hospital  
20 setting.

21 Now, I cannot with 100 percent certainty  
22 rule out that you cannot irritate or aggravate  
23 some condition, but I can certainly state that I  
24 saw nothing done by Dr. Allin, who I have been asked  
25 to review this case on behalf of, which in any way

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 contributed to or changed the outcome of this  
2 case.

3 MR. REILLY: Sounds pretty clear to  
4 me.

5 Q. (By Mr. Mencl) Do you see any evidence  
6 in the medical chart that indicates that Darren  
7 Porterfield's paralysis, or part of it, was caused  
8 between the time he left the emergency room and  
9 Sunday morning, November 22nd?

10 A. What I will comment on is recognition  
11 of that paralysis, not that an action caused it,  
12 but that as -- in his intoxicated state, I don't  
13 think there's an ability to tell the difference  
14 between voluntary and involuntary motor activity,  
15 and that -- and that clearly as he began to sober  
16 up, they began to recognize and they could ask him  
17 to do certain things. They may have noticed  
18 things.

19 The other thing is as spinal cord  
20 swelling increases, which happens over time with  
21 any tissue in the body, that there may have been a  
22 greater degree of paralysis. This is very  
23 difficult to say since early on, the patient's  
24 difficult to evaluate because of his altered  
25 mental status.



1           Q.       You're saying there may have been, but  
2       you're not saying there was.

3           A.       I can't say that for sure because quite  
4       frankly, you can't adequately evaluate somebody  
5       who you can't ask to perform certain acts. I  
6       mean, if you can ask him to touch his nose to his  
7       finger, and he's awake and alert and can talk to  
8       you, then you can make some significant decisions  
9       about him. If you can't carry on that kind of  
10      communication, it's very difficult to decide.

11          Q.       You haven't done any special research  
12      on this case, have you, looked at any particular  
13      medical journals or treatises?

14          A.       No. My area of interest in emergency  
15      medicine is emergency neuro, and so I'm constantly  
16      looking at the literature, but I have not made a  
17      special search of the literature with regard to  
18      this case and there is no -- there is no piece of  
19      literature or book, article or individual fact I  
20      consider in and of itself to be valid, you know,  
21      taken out of context. Nothing I consider  
22      authoritative.

23          Q.       You have given a few depositions  
24      before.

25          A.       Once or twice.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1           Q.       How many medical malpractice cases have  
2 you given depositions in, approximately?

3           A.       About 230.

4           Q.       Is that -- you're serious? 230?

5           A.       I'll give you -- since you're going to  
6 go through the numbers anyway, I'll just lay it  
7 out for you.

8                   I've reviewed at this point in time  
9 about 1,210 cases of malpractice over 14 years. I  
10 was involved with Professional Liability Committee  
11 of the American College, and am the editor in  
12 chief of the new textbook on risk management. I  
13 do most of the teaching in this area in the  
14 college. I can probably quote more literature in  
15 medicolegal stuff than you can. I have been  
16 deposed 230 times, approximately. Breakdown of my  
17 work has been about 85 percent defense, about 15  
18 percent plaintiff. That has remained constant. I  
19 do not advertise in any services. People come to  
20 me because of my expertise in the field.

21                   MR. OLIVER: John, this is the kind  
22 of witness you need. You don't have to ask any.  
23 questions.

24           Q.       (By Mr. Mencl) That percentage of  
25 defense-plaintiff, 85-15, is that about the same

1 for trial testimony?

2 A. No.

3 Q. How is it different for trial  
4 testimony?

5 A. Well, I would say I was rarely appear  
6 at trial on behalf of a plaintiff. Simple reason  
7 is, if I give them a positive opinion, the  
8 plaintiff's attorney, the case settles.

9 Q. Can you think of any occasions where  
10 you were appeared at trial on behalf of the  
11 plaintiff?

12 A. Yes trial, you mean like as opposed to  
13 a widow?

14 Q. Like or a widow position, but not a  
15 discovery.

16 A. Right. I understand. See, I was done  
17 on Wisconsin case on behalf of the plaintiff  
18 where I actually appeared by widow. And about ten  
19 years ago, I actually appeared on behalf of the  
20 plaintiff here in the state of Michigan like at  
21 trial.

22 Q. Did either of those cases involve  
23 spinal cord injury?

24 A. No, neither one.

25 Q. About how many times have you testified

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 at trial for the purposes?

2 4. Probably about 60 May be slightly more  
3 than that.

4 Q. What is the name of the new text on  
5 risk management?

6 A. It's called Risk Management in  
7 Emergency Medicine. It's a 22-author text.

8 Q. Which chapters did you author?

9 A. Well, I'm editor in chief of the book  
10 I also authored certain chapters on doctor-patient  
11 interaction. The book is basically -- I've  
12 overseen the entire project

13 Q. Who publishes that?

14 A. The American College of Emergency  
15 Physicians is the owner of the material.

16 Q. Is it out yet?

17 A. Scheduled publication date, I think is  
18 November of this year.

19 Q. Have you ever done any work with Dave  
20 Erickson before?

21 A. I don't believe so.

22 Q. What about with the <sup>r</sup>Blawie Ball Sanders  
23 firm out of Kansas City?

24 A. Not that I can remember.

25 Q. Do you remember your testimony in

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 Kansas City?

2 A. Never been to Kansas.

3 Q. I really meant involved in any  
4 malpractice cases that originated in the Kansas  
5 City area.

6 A. I think -- I honestly think that this  
7 is the only case in my file which is from Kansas.  
8 There may have been something in the past but I  
9 don't remember.

10 Q. Approximately how many pending cases do  
11 you have that you have been retained to evaluate,  
12 but you haven't been notified that they're  
13 resolved?

14 A. I have an active file of about 400  
15 cases, which are in some stages, you know, the  
16 usual five-year maturation of these things.

17 Q. How many do you think those are for the  
18 defense?

19 MR. ERICKSON: He already told you.

20 A. About 15 percent are plaintiff and  
21 about 85 percent defense. That is not indicating,  
22 by the way, what reviews I gave to these people .  
23 and how many cases I thought that malpractice  
24 existed.

25 Q. (By Mr. Mencl) Right. Right. Well,

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1       when you review a case, how do you define medical  
2       negligence?

3                   MR. ERICKSON:  Objection.  It may  
4       call for a legal opinion on his part.  He's simply  
5       asked to give opinions as to whether somebody met  
6       or deviated from the standard of care.

7           A.       I think that -- I think the counsel  
8       just summarized it.  I mean, I'm asked to decide  
9       whether the actions met the standard of care, that  
10      which a reasonable physician of like or similar  
11      training would do under like or similar  
12      circumstances.

13          Q.       (By Mr. Mencl)  One of the depositions  
14      you've been provided is Wendy Marshall?

15          A.       Yes.

16          Q.       Are you familiar with her?

17          A.       Yes, I know Wendy Marshall.

18          Q.       She is testifying in generally the same  
19      area that you are in this case, and she's reached  
20      some different conclusions.

21          A.       Au contraire.  Wendy Marshall is a  
22      trauma surgeon who only sees trauma cases, does  
23      not work in'a nonselected emergency department and  
24      has ongoing responsibility for the care of the  
25      patient.  That is a hospital which is a totally

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3300 • 1-800-748-7511

1 different system for initial evaluation of  
2 patient. Her boards are not in emergency  
3 medicine. Her training is not in emergency  
4 medicine, and she has a completely different  
5 approach of the patient. She is much more  
6 analogous in this case to Dr. Kuebler, who is the  
7 person who then -- who must do the ongoing trauma  
8 care of a patient, and hers is quite a different  
9 perspective than mine, I would think.

10 Q. Other than that difference in  
11 perspective, do you have any explanation for why  
12 she reached different conclusions than you have?

13 MR. ERICKSON: Wait a second. That

14  
15 ask one expert about why some other expert might  
16 have reached different conclusions. That's asking

17

18

19

20 wall. You're just asking him to comment  
21 inappropriately on somebody else's thoughts.

22 MR. REILLY: Calls for speculation.

23 A. I think reasonable physicians can have  
24 reasonable differences of opinion. You know,  
25 that's why we make horse racing and multiple

1 flavors of ice cream, There is no way that I can  
2 decide why she reached her opinion, but I  
3 certainly believe that I have every bit as much  
4 knowledge, training and experience in emergency  
5 medicine and what the standard of care is, and I  
6 have no intention to acquiesce to her opinion.

7 Q. (By Mr. Mencl) You don't have any  
8 intention to come to trial and say that, well,  
9 Wendy Marshall's opinions are wrong because of the  
10 following reasons?

11 MR. ERICKSON: He will say that.

12 MR. REILLY: I'm going to object.  
13 That's what he's been saying all afternoon,

14 MR. MENCL: Well, we haven't been  
15 talking about that.

16 MR. ERICKSON: The proper way to  
17 present testimony at trial is to ask this man's  
18 opinions. I'm not going to ask him to give  
19 personal opinions about Wendy Marshall. That is  
20 not his role in this case.

21 MR. OLIVER: I don't think the  
22 judge would allow that.

23 MR. REILLY: John --

24 MR. MENCL: That doesn't mean you  
25 guys won't try it.



1 MR. REILLY: John, he has spent  
2 some time today explaining what his opinions are  
3 and the basis for them. It doesn't take -- I  
4 mean, he doesn't have to sit here now and go line  
5 by line and differentiate those opinions and the  
6 basis for them from Wendy Marshall's. That's your  
7 job. But he has already explained what his  
8 opinions are, and it's painfully clear that they  
9 are different from Wendy Marshall's.

10 MR. OLIVER: I think it's  
11 pleausurably clear.

12 MR. REILLY: Pleasurably as opposed  
13 to painfully.

14 A. There is a question on the floor you  
15 want answered?

16 MR. REILLY: I don't know that  
17 there is a question on the floor that is  
18 intelligible and answerable under -- truly. I  
19 mean, you've asked him to -- it's also overbroad  
20 in that she has expressed a number of opinions,  
21 and you've asked him blanketly to address them  
22 all.

23 MR. MENCL: Fine. You've made your  
24 objections.

25 Q. (By Mr. Mencl) Do you have an answer

1 to that question?

2 A. Well, as I remember the question, I  
3 have no intention at trial of ever making any  
4 personal attacks on Wendy Marshall. I'm sure that  
5 she is a fine person and a fine physician, and I  
6 would feel, I'm sure she would -- I would be happy  
7 to look up in my trauma state and see that she was  
8 taking care of me. That does not mean that we do  
9 not have differences of opinion about this case,  
10 but I -- and I certainly do not acquiesce or claim  
11 that she would have greater knowledge in the area  
12 of emergency medicine than I do, nor would I think  
13 that she would think that she does.

14 Q. You have been provided with the  
15 depositions of Kevin Fogarty and Michael Rydquist,  
16 who were paramedics?

17 A, Yes.

18 Q. Was this of any particular benefit to  
19 your opinions?

20 A. Well, it was further fact information  
21 from people who were on the scene, It --

22 Q. Were there any real important facts?

23 MR. ERICKSON: Objection, John.  
24 All of the facts in this case are important in a  
25 variety of ways, and that question is overly

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 broad, vague and ambiguous.

2 Q. (By Mr. Menzel; You can go ahead and  
3 answer.

4 A. I think that it would be hard for me to  
5 point out a bit of fact that is more important than  
6 any other. I mean, I have to take the totality of  
7 it, and I do.

8 Q. Well, do you recall what they said  
9 about whether Porterfield had any movement before  
10 he came to the emergency room?

11 A. Voluntary movement? I'm not sure  
12 whether -- I don't believe they commented on  
13 voluntary movement.

14 Q. If Porterfield had response to pain and  
15 movement an extremity in response to pain, I mean,  
16 would you consider that voluntary or --

17 A. No, I would not.

18 Q. That would be involuntary?

19 A. After all, pain comes to cognition at  
20 the level of thalamus. You do not need a  
21 volitional act to respond to pain, and quite  
22 frankly, we respond to pain at a spinal cord  
23 level. If you touch a hot stove, you move your  
24 finger away based on a spinal cord response, not  
25 on having a process through the brain. So you see

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 actually moved your hand away before you've ever  
2 thought about going ouch.

3 Q. But if we're talking about spinal cord  
4 injury, wouldn't that kind of response to pain be  
5 a good finding as far as spinal cord injury?

6 MR. ERICKSON: Objection.

7 Q. (By Mr. Mencl) If you forget about the  
8 brain?

9 MR. ERICKSON: Objection. That  
10 question is vague and ambiguous. You don't  
11 identify what response you're talking about, what  
12 pain you're talking about or anything.

13 MR. OLIVER: I also want to say  
14 that it misstates the deposition testimony of both  
15 of those paramedics and they testified there was  
16 no response to insertion of a large IV.

17 MR. REILLY: In addition, John, in  
18 fairness -- the only movement that was referenced,  
19 the witness indicated that he couldn't tell  
20 whether it was voluntary or involuntary or whether  
21 he influenced the movement himself. Why don't you  
22 read him the passage and tell him what you want  
23 him --

24 A. There is a question pending?

25 Q. (By Mr. Mencl) Yes.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1                   MR. ERICKSON:   What is it?   I don't  
2   recall -- I don't know what it is, John.

3                   MR. REILLY:   The question was,  
4   wasn't it good to have motion finding --

5           Q.        (By Mr. Mencl)   If you're trying to  
6   rule out spinal cord injury in a patient who is  
7   comatose, isn't a response to pain with movement  
8   of a person's, let's say lower extremities, isn't  
9   that a significant finding?

10                  MR. ERICKSON:   Objection.   There  
11   are no facts in this case that there was any  
12   response to pain with movement of lower or upper  
13   extremities.

14                  MR. REILLY:   I join in the  
15   objection.

16                  MR. MENCL:   You know, there's all  
17   kinds of evidence of this, but I'm asking him just  
18   a general question about principles of medicine.

19                  MR. REILLY:   I'm not arguing with  
20   your ability to ask the question.   My objection is  
21   that when you say it's a significant finding,  
22   that's vague and ambiguous because this witness.  
23   has already indicated that in this case he  
24   believes that there may have been a delayed onset  
25   of -- or recognition of symptoms in this patient

1 -- or a change in his condition was to spinal cord  
 2 swelling, so I think you need to allow him to  
 3 include that in his response to, quote, a  
 4 significant finding

5 A. Movement to -- movement is a much more  
 6 complex process, I think, than the legal system  
 7 can handle, really. For example, if I apply  
 8 pressure to your face, and you move the hand up  
 9 and take my hand away from your face, that  
 10 implicates to me that your spinal cord is working,  
 11 that you moved information up the cord to the  
 12 brain, which has localized that point, and then  
 13 you have, as a response, localized a motor  
 14 response against that, so that certain movements  
 15 carry with it considerably more significance than  
 16 another.

17 So if, for example, I pinch your left  
 18 shoulder and you move your right hand over to grasp  
 19 my hand, that is a significant sign, that there  
 20 are certain symmetries intact.

21 If I stroke your leg and your leg moves,  
 22 or I poke your arm and your arm moves, that does  
 23 not carry near the same significance because it  
 24 does not indicate to me that multiple areas of the  
 25 brain and brainstem have been involved in making

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 decisions.  
2

3 I mean, you know, I'm certainly --  
4 that's something I draw out all the time from  
5 medical students as to where those pathways go,  
6 and would be happy to do so for a jury. But I  
7 think that the -- that the concept of movement is  
8 more complex than what we would like to think of  
9 it here, and so that after all, I can take a frog  
10 leg without the frog and stick it in a vat of  
11 saline, and I can get twitch response motor  
12 movements from the frog leg. That's not the same  
13 as, you know, when I frighten the frog with a  
14 noise and he hops away. That's a different level  
15 of movement.

16 So I'm -- I mean, the movement question  
17 is a complex one that if we're going to develop  
18 that, needs to be done systematically and  
19 correctly. All I can say is just because you  
20 observe a movement in a limb is not the same as a  
21 correct evaluation of deciding is information  
22 moving up the spinal cord, has it been processed  
23 and has it moved back down. That's a completely  
24 different question.

25 Q. (By Mr. Mencl) What I am getting --  
you know, I've seen the term used, purposeful

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 movement.

2 A, Right.

3 Q. And if somebody has random movements  
4 of, say, bending their knee and repositioning  
5 themselves in bed, turning over from their back to  
6 their side, even though -- well, first, would you  
7 agree that that's not purposeful movement?

8 MR. ERICKSON: Which one, John?

9 MR. MENCL: Either one,

10 A, Again, I would have to see the context  
11 of it. Someone was poking their foot with a  
12 needle and they move their leg --

13 Q. (By Mr. Mencl) No. No external  
14 stimuli.

15 A. Just the leg was moving?

16 Q. Spontaneous.

17 A. Again, we can see movement in people  
18 who have absolutely no functioning above the level  
19 of their spinal cord, so, I mean, I would have to  
20 see it in context and watch it happen. I mean,  
21 the question is overly vague. I mean, I can  
22 certainly come up with scenarios in which it  
23 indicates bath. But as I said, if your movement  
24 is in a processed response to an activity, then I  
25 can tell you what part of the nervous system is

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1 being looked at, what part of the brain is  
2 involved, and whether you've actually made  
3 synaptic connections up and down the spinal cord.  
4 But, you know, the average EMT is not at a level  
5 where he's going to be able to differentiate those,  
6 things.

7 Q. I noticed in Dr. Soucek's deposition,  
8 you've underlined a couple things on page seven,  
9 you underline the question:

10 "So sometime between 8:00 a.m. and 12  
11 noon you reviewed several imaging films on Darren  
12 Porterfield?

13 "Answer: That's correct."

14 A. That just helped to define the time  
15 line for me so that I could go back and check  
16 against the chronology that was given out here,  
17 and are things correct,

18 Q. And Dr. Soucek gave an answer on page  
19 13 that a comatose patient will respond to pain,  
20 and you underlined that. Is that because you --

21 A. That's because --

22 Q. You don't agree with it, agree with it?

23 A. I mean, a comatose patient does not  
24 have to have a response to pain. You certainly  
25 have comatose patients who don't respond to pain.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 I mean --

2 Q. It could be either way?

3 A. Depends on the level the patient is at.  
4 It depends on where the involvement is. It may  
5 be, you know, depends on what his definition of  
6 response to pain is. But no, there are certainly  
7 comatose patients who do not respond to pain. I  
8 promise you that.

9 Q. When Dr. Allin initially wrote the  
10 emergency room record on November 20, 1989, under  
11 the neuro section, he used a phrase, "Responds to  
12 pain, no focal deficit." What does that mean?,

13 A. I think what he had was that he  
14 grimaced. That can be a response to pain.  
15 Depends on where the pain is applied.

16 Q. No. He wrote about the grimace later.

17 A. Okay.

18 Q. I mean, are you aware --

19 A. Yes.

20 Q. -- that he added --

21 A. Yes, I am aware of that.

22 Q. But without his added comments, just if  
23 you saw a patient like Porterfield and you're  
24 reviewing the record and it says, "Neuro, responds  
25 to pain, no focal deficit," what can that mean?

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 A. Well, it means --

2 Q. Is there a number of things --

3 A. It means there is no difference on the  
4 two sides of the body. That's what focal deficit  
5 would mean. Three moved and one didn't, that  
6 would be a focal deficit. Now, his "responds to  
7 pain," it would depend on what he considers a  
8 response to pain.

9 Q. When you say three moved and one  
10 didn't, you are talking about extremities; right?

11 A. Right. I mean, that might be a focal  
12 finding. But if nothing moves, then it is still  
13 nonfocal, but it's just indeterminant.

14 Q. But wouldn't it be more accurate to  
15 write nonfocal deficit, or generalized deficit  
16 rather than no focal deficit?

17 MR. REILLY: Object to the form of  
18 the question.

19 A. Well, no focal deficit means exactly  
20 what it says. There is no focal deficit. That  
21 doesn't say there is no deficit. It says there is  
22 no one spot. So I guess it would depend on how  
23 the individual uses that phrase.

24 Q. (By Mr. Mencl) No focal deficit  
25 doesn't exactly match the phrase nonfocal deficit.

1 They're two different terms.

2 A. Right. Right. That's right. They're  
3 different terms.

4 Q. The part of it that says "responds to  
5 pain," wouldn't you interpret that as the  
6 extremities moving in response to pain?

7 A. No, I don't. I have no idea what he  
8 means by that. He has to decide what that means  
9 because it may just be a facial grimace. I don't  
10 know.

11 Q. So just from "responds to pain, no  
12 focal deficit," the responds to pain" could mean a  
13 facial grimace or it could mean movement of  
14 extremities, or some other expression responding  
15 to pain.

16 A. It could be everything from tells you  
17 to stop doing that to somebody who has decorticate  
18 posturing, decerebrate, crossing of the midline.  
19 All of those are responses to pain which indicate  
20 damage to the nervous system at a different level.

21 Q. But if it indicated some damage to the  
22 central nervous system, wouldn't it be incumbent  
23 upon a physician to write a little more detail  
24 about it?

25 A. Well, charting is a very individual

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1  
2  
3 Q. Well, I'm just -- you said that's one  
4 possibility about the decorticate posturing, and I  
5 guess after reviewing the record and seeing the  
6 course of action that Dr. Allin took, I mean, you  
7 don't really think that that happened in this  
8 case.

9 MR. ERICKSON: That what happened?

10 Q. (By Mr. Mencl) That he had decorticate  
11 posturing?

12 A. No, no, I do not think so.

13 Q. You are an examiner on the American  
14 Board of Emergency Medicine?

15 A. Yes.

16 Q. Can you explain to me the significance  
17 of board certification in emergency medicine?

18 A. It's a -- board certification is  
19 something which takes place in all of the  
20 specialties. I believe there are now 23 board  
21 certified medical specialties. It's a process  
22 whereby if you claim to be -- to have expertise in  
23 the field you present your training and experience  
24 and your -- to a board, which then decides to test  
25 you formally, and our board in emergency medicine

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 uses both a written examination and an oral  
2 examination, and if you've satisfied all three  
3 parts, training, experience and examinations, then  
4 they -- you can be considered a diplomate of the  
5 board.

6 Q. Is Dr. Allin a diplomate of the board?

7 A. I believe he is, yes.

8 Q. would you hold someone to a little  
9 higher standard of care who is board certified  
10 than you would a physician who is not board  
11 certified?

12 A. No. I hold them -- if they're doing  
13 the same job, I hold them to the same standard of  
14 care. Same reasonable actions should be taken.  
15 If you hold yourself out to do that job, you  
16 should do it correctly.

17 Q. I am going through Exhibit 1 which is  
18 your CV. On the second page, I notice that **you've**  
19 got the -- you received the Standing Ovation  
20 Award, outstanding lecturer. Was that for a  
21 particular talk or topic?

22 A. Can I see that?

23 Q. Yes. It's where the question mark is.

24 A. Yeah. This is the Mid-American Trauma  
25 Symposium in November 1986. That talk, I believe,

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 was on comatose patients, but I can't tell you at  
 2 this point in time. Coma is something I lecture  
 3 on.

4 Q. You was outstanding speaker of the year  
 5 by American College in Emergency Physicians.

6 A. Yes.

7 Q. Is that based on a particular talk, or  
 8 is that based on the quantity of talks you gave  
 9 throughout the year?

10 A. I'd like to think that it's based on  
 11 both quantity and quality.

12 (Whereupon, a discussion was held  
 13 off the record.)

14 Q. On page three, it says you're president  
 15 of American Physicians Assurance Society. Explain  
 16 what that is.

17 A. That is a self-insured medical trust  
 18 insurance company which was owned in Bridgetown,  
 19 Barbados.

20 Q. Who do you insure?

21 A. Emergency physicians within our own  
 22 group, basically. This is a self-insurance  
 23 mechanism.

24 Q. Do you utilize any reinsurance, where  
 25 you pass the risk on down the line to a bigger

\_\_\_\_ METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
 Overland Park, Kansas 66212  
 (913) 383-3900 • 1-800-748-7511

1 company?

2 A. We have under one condition. I've used  
3 some reinsurance, and that's with the Paradyne  
4 Insurance Company of Louisville, Kentucky.

5 Q. Is that the only one you've dealt with?

6 A. That's the only one, but understanding  
7 that reinsurance is always a multi-ticket item,  
8 that they then pass that off into the  
9 international market, so they have lots of people  
10 on the tickets.

11 Q. And you are not aware of who else  
12 they've got on the ticket, so to speak?

13 A. On the ticket is basically European  
14 insurance, Lloyd's Insurance, Scandanavia, those  
15 kinds of people.

16 Q. And you are still president of that  
17 Physicians Assurance Society?

18 A. Yes, I am.

19 Q. You're chief executive officer, Medical  
20 Practice Risk Assessment. Explain what that is,

21 A. That is a company which we own here in  
22 town which teaches coursework and we do  
23 evaluations of hospitals concerning risk  
24 management in emergency medicine.

25 Q. Are you still presently active in that?

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511



1           A.       Yes, I am.

2           Q.       On page four, it's mentioned, you've  
3       been a visiting lecturer on medicolegal issues in  
4       emergency medicine, and there seems to be, over  
5       the years you've spoken on that topic, it seems  
6       like many times.

7           A.       That is true.

8           Q.       Could you explain generally what that  
9       consists of?

10          A.       Well, it concerns the entire gamut of  
11       situations which the emergency department lends  
12       itself to, and there are questions on duty to  
13       treat, right to treat, various obligations under  
14       the law to see certain patients, consent issues  
15       against medical advice issues.

16          Q.       Standard of care issues?

17          A.       Standard of care issues. All of those.

18          Q.       Have you ever testified in front of any  
19       committee or legislative body in an effort to  
20       change the laws of medical negligence?

21          A.       No. I've never personally given  
22       testimony to change the law. I have certainly  
23       been involved in looking at those questions, but I  
24       have never been asked to actually give that  
25       testimony.

1 Q. Tell me how you've been involved in  
2 looking at those questions,

3 A. Well, I have met, as the representative  
4  
5 Emergency Physicians, with the American Trial  
6 Lawyers Association chapter here in the state,  
7 looking at areas where we might cooperate on tort  
8 reform. I have been -- I was involved for awhile  
9 with the Physicians Action Committee which had  
10 proposed some changes to the state legislature,  
11 although I did not actually give a talk there, I  
12 was involved in --

13 Q. You were a member of the committee?

14 A. I was a contributor to the -- financial  
15 contributor to the action.

16 Q. In Wendy Marshall's deposition, there  
17 are some blue marks on certain pages throughout.  
18 I assume that those are marks you made?

19 A. Yes.

20 Q. Have you ever met Dr. Dennis Allin  
21 before?

22 A. I believe we have actually seen each  
23 other once. I think he came up to me at a place  
24 where I was speaking and introduced himself.

25 Q. Could it be Las Vegas, Nevada?

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 A. I couldn't tell you when.

2 Q. I'm just trying to prompt your memory.

3 A. No. He did introduce himself to me  
4 once, and asked me would I consider looking at a  
5 case.

6 Q. You mean this case or --

7 A. I can't tell you whether it was this  
8 case, but he did introduce himself to me once. I  
9 know that to be a fact.

10 Q. Well, and did you look at that case,  
11 whatever case it was?

12 A. I can't tell you, because I don't know  
13 which case he was referring to at that time.  
14 But --

15 Q. Was it a medicolegal type case or --

16 A. I mean, you know, all I can tell you is  
17 that he introduced himself to me once and asked me  
18 would I look at a case or something, and that's  
19 all I can remember. I mean, I meet thousands of  
20 people like that every year,

21 Q. Do people ask you to look at cases that  
22 aren't involved in litigation that --

23 A. oh, sure.

24 Q. Just for the benefit of the patient?

25 A. Yeah. They'll send me interesting

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 cases, and I have interesting case files. I've  
2 collected 300 this year on emergency neuro cases.  
3 People write to me all the time and call me.

4 (Whereupon, a discussion was held  
5 off the record.)

6 Q. You might turn to page 13.

7 A. Yes.

8 Q. Where it says "Guest Lecturer,  
9 Discussion on Standards Development," on page 13,  
10 would you explain to me what that is?

11 A. Oh, that was a discussion on how the  
12 specialty itself should proceed with developing,  
13 quote, unquote, standards of care within the  
14 specialty, because there is no such thing as a  
15 book you can read that says the standard of care.  
16 That is currently a fiction forwarded by the legal  
17 community, and the question is, to what level  
18 should we take the bull by the horns and establish;  
19 a standard of care which would both set  
20 performance standards. It's a double-edged sword  
21 you can obviously see.

22 Q. Right. Right. Sort of like the Joint  
23 Commission an Accreditation. The hospital has  
24 certain standards for record keeping?

25 A. Yes, but those are physical standards

1 relative to do you have certain things in place.  
2 This is standards given a specific kind of case,  
3 what should you do, what should you order, what  
4 should follow up, which is a -- which is  
5 obviously, as I say, a double-edged sword.

6 Q. Well, and what is the current status of  
7 that? Is the college going to be coming out with  
8 some standards or --

9 A. Well, they have with regard to at least  
10 one issue. We have now promulgated and put  
11 forward a standard -- it's not a standard. A  
12 clinical guideline on chest pain. They are  
13 currently working on several others. There is  
14 nothing out yet on cervical spine injury.

15 Q. Is you turn to page 15 on your CV,  
16 Exhibit A --

17 A. Yes.

18 Q. -- under Committee, it says you're a  
19 member of the Alcohol Therapy Committee. What is  
20 that?

21 A. I was a member, '78 to '80.

22 Q. What did that deal with?

23 A. That had to do with the management of  
24 alcoholic patients in the hospital, what were the  
25 programs available for them, treatment programs,

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 management programs. What we do, detoxification,  
2 that sort of thing.

3 Q And that just dealt with patients -- I  
4 mean, I hear about that there are committees, like  
5 there's committees for alcohol abuse in the legal  
6 community, and I was wondering if that had  
7 anything --

8 A. Oh, no. This is not a physicians  
9 committee. That has to do with programs for  
10 patients in the hospital. This is not related to  
11 doctors with drug problems.

12 Q. Down below that, it says you are the  
13 Director of Risk Management Emergency Physicians  
14 Medical Group, PC.

15 A. Right.

16 Q. You are currently still the director?

17 A. Yes.

18 Q. What is that group? What does it do?

19 A. The Emergency Physicians Medical Group  
20 is a corporation which supplies emergency services  
21 to a number of entities.

22 Q. And by "entities," you mean hospitals?

23 A. Freestanding centers, hospitals,  
24 industrial medical program. We also supply the  
25 doctors for helicopter rescue program.

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 Q. What percentage of your time in the  
2 average month do you spend actually taking care of  
3 patients in a clinical setting --

4 A. This month, I will -- I'll just break  
5 it down by hours. This month I have ten shifts  
6 clinically. Actually an eleventh shift counting  
7 the university. Now, these vary in length.  
8 They're scheduled for eight, but you're usually  
9 there for ten hours, and so I will probably, this  
10 month, spend 100 to 110 hours clinically seeing  
11 patients, and then I will spend a certain amount  
12 of time administratively, writing, teaching,  
13 lecturing, that sort of thing.

14 Q. Regarding your opinions in this case,  
15 is there anything further that you plan to do to  
16 finalize your opinions or have you looked at  
17 everything --

18 MR. OLIVER: Object to the form of  
19 the question. It assumes his opinions are not as  
20 of today finalized.

21 Q. (By Mr. Mencl) Maybe it does. That  
22 was a poorly worded question.

23 A. I think that any expert -- I would be  
24 like any other expert. If presented with a  
25 different body of facts, I could modify my

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 opinion. I have no idea what will come in to me  
2 prior to the time of the trial. Presented with a  
3 correct set of facts, you know, I will modify my  
4 opinion, but it is always based upon those facts  
5 which I have at that point in time.

6 Q. On page 17, it says you were a member  
7 of the Professional Liability Committee for the  
8 American College of Emergency Physicians.

9 A, Yes. I mentioned that to you earlier.

10 Q. Right. You are not currently a member  
11 of that committee?

12 A. I have rotated off that committee  
13 because I now sit on the national board.

14 Q. What were your duties when you were on  
15 that committee?

16 A. Basically I was looking at insurance  
17 questions, the availability of insurance, rate  
18 structure of insurance, some actuarial questions.  
19 We were also looking at risk situations, trying to  
20 isolate those areas where the college needed  
21 policies, to help improve patient care with regard  
22 to liability issues.

23 Q. You have written a book or co-authored  
24 a book with a Mr. Little?

25 A. Dr. Little.

**METROPOLITAN COURT REPORTERS, INC.,** \_\_\_\_\_



1 Q. Did you contribute to every chapter in  
2 the book or were they divided up, Little wrote a  
3 chapter, you wrote this chapter?

4 A. We actually divided **up** the work;  
5 however, once **it** was divided up and put back  
6 together, we both edited the work.

7 Q. When you edited **it**, I mean, does that  
8 mean that you both are satisfied with --

9 A. What **it** says.

10 Q. With what **it** says?

11 A. Yes.

12 Q. And you are willing to stand behind **it**?

13 A. Yes, as much as I am willing to stand  
14 behind any published work.

15 Q. Do you recall which chapters, though,  
16 that you initially worked on, on that book,  
17 Neurologic Emergencies?

18 A. I suppose if I had the book in front of  
19 me, I could go through **it** and tell you. I know I  
20 wrote the initial one on examination. I wrote the  
21 one on coma. I wrote the one on weakness. I  
22 wrote the one on dizziness and vertigo. I wrote.  
23 the one on back pain. A few things like that.

24 Q. In that regard, I have a photocopy of  
25 the table of contents of that book just to refresh

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 your memory. You know, if you can, just go  
2 through and tell me which chapter you recall that  
3 you worked on.

4 A. Acute Double Vision and Blindness is  
5 mine, Neurologic Exam is mine. Neuroanatomy is  
6 mine, Altered States of Consciousness is mine,  
7 Focal Deficits is mine, Acute Weakness is mine.  
8 Double Vision and Blindness is mine. Syncopy is  
9 mine, Dizziness is mine. **Neck** and Back Pain is  
10 mine,

11 Q. Okay. You made some videos on page 19  
12 of your CV.

13 A. Those that appear on my CV, yes. Call  
14 it the Rob Lowe syndrome.

15 Q. Did any of these videos deal with  
16 clearing the cervical spine?

17 A. No, not that I know of,

18 Q. Last page of your CV, page 21, under  
19 Administrative, you list some references, and one  
20 is a Mark Griffin, Esquire, attorney?

21 A. Yes.

22 Q. What is your relationship with him?

23 A. He is our business attorney. Basically  
24 I run the business, the Emergency Physicians  
25 Medical Group. I am the principal guy who

1 actually runs the office, and so we have all the  
2 usual business sorts of interactions which my  
3 company has.

4 Q. There is a deposition here by Dr.  
5 Goodwin, and you have written on the front,  
6 "Defense expert, speaks to drugs, alcohol use  
7 effects." Were his opinions of any particular  
8 significance to your opinions, or was this --

9 A. It's a tangential issue. He speaks to  
10 something which I do not speak on, and although he  
11 confirms my feelings on the subject, he did not  
12 influence my opinions on the subject in any way.

13 Q. When you say it confirms your feelings  
14 on the subject, I don't believe he really speaks  
15 to the emergency room care that was given.

16 A. No.

17 Q. So what subject is it that he's  
confirmed?

19 A. Well, he speaks to the outcome, to what  
20 happens to people who are drug and alcohol  
21 abusers, which happens to be a great percentage of  
22 my patient population, so, I mean, he just  
23 confirms what everybody knows, and it is that, you  
24 know, there's a certain group of patients who are  
25 going to be difficult, who are going to be

1 nonproductive, who are prone to problems, and  
2 their life expectancy is shorter because of it,  
3 and --

4 Q. But you don't intend to give any  
5 opinions at trial on life expectancy?

6 A. No, I do not.

7 MR. ERICKSON: No.

8 Q. (By Mr. Mencl; Okay. So, you know, as  
9 far as opinions that you intend to give at trial,  
10 that deposition is just background?

11 A. Background as are all the reports'  
12 depositions.

13 Q. I want to ask you about some  
14 underlining you did in Walter Levy's deposition.

15 A Right.

16 Q. On page 116, is that of any particular  
17 significance?

18 A. It's not of particular significance.  
19 It's just I noted it because somehow it didn't  
20 seem to fit with what I had seen, but all I can  
21 say is that that's his opinion.

22 Q. What about on page 120, why did you  
23 underline that and put a checkmark by it?

24 MR. OLIVER: John, why don't you,  
25 for the record, tell us the line numbers, too

METROPOLITAN COURT REPORTERS, INC. \_\_\_\_\_

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1 MR. MENCL: I am going to have her  
2 attach copies of these particular pages.

3 MR. OLIVER: Okay.

4 MR. MENCL: To the deposition.

5 MR. OLIVER: All right. Never  
6 mind.

7 A. Looking at page 120, starting at line  
8 9, question:

9 "Doctor, is there some reason to think  
10 that Darren Porterfield's neck was not cleared  
11 after Dr. Kuebler examined the guy at 10:00 a.m.  
12 the prior morning and found a normal neurologic  
13 functioning?

14 "Answer: That doesn't clear the neck.  
15 Clearing of the neck means you've demonstrated  
16 radiologically. Well, quite frankly, that doesn't  
17 clear the neck either, because there are  
18 limitations of radiographs, so clearing the neck  
19 is a combination of a physical examination and the  
20 radiographs, and initial radiographic findings can  
21 be normal on one who does have a cervical spine  
22 injury."

23 On page 123, at line 18, starting at  
24 line 18, why did you note that passage? Again, at  
25 123, line 11, the question:

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 'Do you see any indication in there or  
2 in the physician's orders that Dr. Kuebler  
3 directed that this patient's neck was immobilized  
4 at that point?

5 "Answer: I'm looking.

6 Question: I think the doctor's orders  
7 are on page 665.

8 "Answer: I don't see any indication  
9 that he's declared the patient unstable or ordered  
10 a cervical collar or similar type of activity.

11 Since you're not rendering any opinions  
12 on Dr. Kuebler, is that of any particular  
13 significance?

14 A. It was just interesting that he thought  
15 that he needed to order it when I wasn't aware  
16 that there had been an order to have it taken off.  
17 I wanted to make sure. I wanted to go back and  
18 check the orders to make sure there was no  
19 contraindicating order here. Maybe there had  
20 been, and maybe there wasn't been. But that was  
21 important to me with regard to Mr. Allin. And I  
22 informed you that there had not been an order  
23 written to remove the collar.

24 Q. On page 150, you noted a message about  
25 neurogenic shock?

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 A. Yes.

2 Q What was the purpose of noting that?

3 A Just the fact that neurogenic shock  
4 occasionally does exist. It is a possible  
5 explanation of some of the findings early on in  
6 the patient, but again, it's only mentioning one  
7 possible mechanism.

8 Q Now, in the deposition of Joseph  
9 Coppola --

10 A. Yes.

11 Q. -- on page 68, you noted something --  
12 there is a passage regarding:

13 If you have a vascular problem where  
14 there is not sufficient supply to a certain area  
15 of the cord, then it could cause more problems?

16 "Answer: Yes."

17 What was the purpose of noting that?

18 A Because it is consistent with the  
19 traumatic picture, that if you get swelling of the  
20 cord, since there wasn't anything specifically  
21 pushing against a vessel what happens is you get  
22 swelling of the cord and then your smaller  
23 arterials actually get pressure on them, increase  
24 blood flow, and ischemia to the cord, which could  
25 happen over a period of time, so I, I guess

---

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 on that point, do agree that the mechanism is an  
2 ischemic one as opposed to a compressive or a  
3 i severence one.

4 Q. Well, if you have ischemic process  
5 going on in the spinal cord, and then the spinal  
6 cord is compressed because of a dislocation,  
7 doesn't the compression compound the problem of  
8 what's already going on?

9 A. Well, that may be the case. I have no  
10 evidence in this case that I had such a  
11 dislocation or fixed dislocation,

12 Q. Well, did you ever see the plane films  
13 from Sunday, November 22nd, that showed C5 --

14 A. Subluxation, yes.

15 Q. Well, if there were problems going on  
16 with edema or ischemia of the cord, wouldn't that  
17 dislocation make whatever problems were going on  
18 worse?

19 A. I can't say that. I mean, I can't  
20 definitely say that.

21 Q. Why? Why can't you?

22 A. Because the initial injury itself may  
23 have caused all the problems. I think I mentioned  
24 earlier that I could not say 100 percent that  
25 there wouldn't be some aggravating incident.



1 Q. Page 157 of Dr. Coppola's deposition,  
2 you underline a passage and circled the word "no."  
3 I think that's "no."

4 A. "Based on the 8:00 a.m. entry, are you  
5 able to backdate when most probably the insult to  
6 the spinal cord occurred?

7 "Answer: If I can explain, the initial  
8 insult to the spinal cord occurred at the  
9 accident, prior to his arrival at the hospital."

10 I guess I agree with that.

11 "I believe his subluxation and insult,  
12 which caused his permanent damage, occurred some  
13 time late Saturday night or early Sunday morning."  
14 And I've just written there that I disagree with  
15 that.

16 Q. This indication on page 164, is that  
17 just because the doctor says he's not going to  
18 render any opinions about reading of the x-rays,  
19 and you noted that that's not an issue?

20 A. That's right.

21 Q. Now we're going to switch to Jendy  
22 Marshall's deposition. You made some underlinings  
23 on page 9. Is that some of the background  
24 information that you mentioned previously about  
25 Dr. Marshall?

1           A.       Yes.    It delineated her scope of  
2       practice and where she practices.

3           Q.       Page 11, you made a notation,  
4       "Cleveland."   Any particular significance to that?

5           A.       Just localized where she had been.

6           Q.       What was the purpose of your notes on  
7       page 96?

8           A.       Just to show that the fact that -- she  
9       reiterated the fact that you don't just CT the  
10      neck, and it's just to see what she was saying as  
11      to what they did in their trauma unit on C-spine  
12      injuries.   I was just interested to note it.

13          Q.       I think this is, on page 126, is an  
14      area where we got off talking about surgical  
15      decompression.

16          A.       Yes.

17          Q.       I don't think that's particularly  
18      significant opinions.

19                   MR. ERICKSON:   Objection.   Same  
20      reason as I previously stated.   Everything in this  
21      case that he's reviewed may have some significance  
22      for one reason or the other,

23          Q.       ('By Mr. Mencl)   On page 131, why did  
24      you make notes on that page?

25          A.       Because there is a comment that says if

\_\_\_\_\_**METROPOLITAN COURT REPORTERS, INC.**\_\_\_\_\_

1 appropriately treated prior to giving spinal cord  
2 impingement, there really should not be any  
3 neurologic impairment. Well, that's not really  
4 true. It may be true, but you have to consider  
5 the initial spinal cord impingement in this case  
6 was the actual time of the accident. So I guess I  
7 agree with that. If he had not had an accident,  
8 he would not have the spinal cord injury; however,  
9 he did have the accident, and I think that's where  
10 the initial impingement on the cord took place

11 Q. On page 122, there is a passage about  
12 that if Mr. Kuebler had put a C collar on Warren  
13 at that point, then the neurological impairment  
14 that Warren eventually had could have been  
15 avoided, and she says yes. I take it your opinion  
16 is no

17 A. Absolutely. But I would understand that  
18 as saying I'm not here to comment on Mr. Kuebler.

19 Q. Well, yeah, and I'm not asking you  
20 whether Kuebler is right or wrong to put a C  
21 collar on, but I'm just saying from a causative  
22 standpoint, if he did put a C collar on, it, in  
23 your opinion, would not have made any difference  
24 with how Darren ended up on Sunday?

25 A. That's right. I agree with Dr.

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 Marshall at **this** point in time that essentially  
2 what she's saying here is that Dr. Allin's  
3 activities didn't have anything to do with this,  
4 and that Dr. Kuebler could have avoided this  
5 problem by putting on a collar. I suppose he  
6 should have put the collar back on. I just  
7 disagree with the fact that it would have made a  
8 difference in the outcome of the case.

9 Q. On page 146, what is significant about  
10 the neck being supple or -- this underline here  
11 about no muscle spasm?

12 A. Well, generally, in a fractured neck  
13 you have muscle spasm. Obviously Dr. Kuebler  
14 examined this person's neck and thought that it  
15 was supple at that time, and most people with  
16 fractures of the neck actually do splint the  
17 fractures by going into spasm. That's the common  
18 response to the body.

19 Q. Is it possible that the neck was supple  
20 and had no masses, or is it just a physical  
21 impossibility?

22 A. No, it's not an impossibility. All I'm  
23 saying is in usual cases, people with a fractured  
24 neck will splint that fracture by tightening down  
25 their muscles so their neck doesn't move. Like

**METROPOLITAN COURT REPORTERS, INC.**

1 all fractures --

2 Q. If you have a lot of ligaments, though,  
3 would that make the neck looser?

4 A. No, not the supporting ligaments of the  
5 spinal cord, I mean, what we're talking about is  
6 the muscular response of the large muscles of the  
7 neck.

8 Q. On Marshall Exhibit 1, which is her CV,  
9 you circled 1978. What was the purpose of that?

-- A. I just wanted to know what year in  
11 which she trained and went to medical school, that  
12 sort of thing.

13 Q. Now that you know that, does that have  
14 any particular bearing?

15 A. Not on this case. It may if she's  
16 good-looking.

17 Q. On Marshall Exhibit 3-A, you wrote "no"  
18 by where it says "failing to order further imaging  
19 exams on the cervical spine."

20 A. This is a comment she made on  
21 deviations of the standard of care of Dr. Allin.  
22 I disagree with that. It was not his place to  
23 order further imaging exams of that patient at  
24 that time. He was not doing the definitive care  
25 or the followup care of this patient. She and I

—METROPOLITAN COURT REPORTERS, INC.—

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 disagree on this issue.

2 Q. Let me ask you about this, about the  
3 further imaging exam. If Dr. Allin had done  
4 further imaging, that would be within the standard  
5 of care, too. I mean, there's -- I'm wondering if  
6 you're saying there's two ways he can go. He can  
7 choose not to do it and let the next physician  
8 follow up, or if he does it, it's within the  
9 standard of care, too.

10 MR. ERICKSON: I need to object to  
11 the question. Now it's compound. You've asked  
12 three or four different questions in that. The  
13 first question you asked looked at the question  
14 incorrectly in this case. The question in this  
15 case is whether what Dr. Allin did met or deviated  
16 from the standard of care, not whether if  
17 additional things could have been done, that it  
18 also would have been in the standard of care.  
19 That's an irrelevant question, John. You can  
20 always think of things that would have been in the  
21 standard of care. That's not the issue in these  
22 cases. The question is problematic from that  
23 standpoint.' And also in addition to asking for  
24 that irrelevant response, it's compound.

25 Q. (By Mr. Mencl) Let me try to shorten

\_\_\_\_METROPOLITAN COURT REPORTERS, INC.\_\_\_\_

10100 Santa Fe Drive • Suite 110

Overland Park, Kansas 66212

(913) 383-3900 • 1-800-748-7511

1       it up a little bit. If Dr. Allin had done further  
2       imaging films while Darren Porterfield was in the  
3       emergency room, would you consider that  
4       inappropriate because it would be unnecessary?

5           A.       It would depend on the films that were  
6       ordered and to what purpose and to the amount of  
7       movement the patient was put through and the  
8       amount of time that they took, so it would have --  
9       I would have to define that very specifically.

10          Q.       In the back here, there's a general  
11       chronology in the Darren Porterfield case, and on  
12       page 2 of that, you wrote "yes." I assume that  
13       means you agree with that statement that Allin  
14       made.

15          A.       Porterfield's medical condition did not  
16       contraindicate doing a CT scan of his neck or more  
17       x-rays, but nothing indicated it either. Yes, I  
18       think that's a statement that is hard to take  
19       issue with.

20                   MR. ERICKSON: He's also already  
21       said that this afternoon, John.

22          Q.       (By Mr. Mencl) Yeah, I believe he did.  
23       On page 3, you underline, "Porterfield had a  
24       normal range of motion of all extremities,"  
25       That's from Nurse Jobe's deposition.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1           A,       Right.

2           Q.       What information does that give you?

3           A.       What that tells me is it's incongruous  
4 with what else I'm seeing in the chart. It didn't  
5 fit in. Now, if he did have a normal range of  
6 motion, it meant that nothing Dr. Allin had done  
7 had caused him a problem, but all I can tell you  
8 is if this person was actually put through a  
9 normal range of motion examination, I'd be  
10 shocked. It would surprise me no end to see if  
11 this person had actually been put through joint  
12 range of motion,

13          Q.       When somebody says "joint range of  
14 motion," is that done passively or actively?

15          A.       Well, I don't know. But, I mean, if  
16 they've actually asked him to move through a range  
17 of motion, I'm sure he didn't.

18          Q.       And what if they did it passively?

19          A,       If they did it passively, then that  
20 just indicates that he had no stiffness of his  
21 joints, so he did not immediately develop  
22 arthritis.

23          Q.       Does that tell you anything about  
24 spinal cord injury?

25          A.       No, it doesn't.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(313) 383-3900 • 1-800-748-7511



1           Q.       you noted here on page four from the  
2       Jana Ward deposition, which you haven't been  
3       provided, but Porterfield moved his leg by bending  
4       his right knee. His knee came up six to seven  
5       inches off the bed. Did you take that into  
6       consideration in forming your opinions, or did you  
7       not because you didn't actually have the  
8       deposition?

9           A.       All I know by looking at that is that  
10       that's a spinal cord level response. Moving the  
11       leg like that is again, it goes back to my analogy  
12       of having the frog's leg twitch in the saltwater  
13       bath. That does not indicate that he has  
14       volitional movement.

15          Q.       On page five, you noted in Jobe  
16       deposition excerpt where she talked about how she  
17       normally places her hands against a patient's, feet  
18       and has him flex his feet against her hands, and  
19       she's sure she did this with Porterfield, and  
20       would have called the doctor if she had -- if he  
21       had not done it. You put a little star by it,

23          A.       Just to me, it appeared to be  
24       inconsistent, but then again, what can I say? I  
25       mean, I don't know whether she did or didn't.

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1           Q.       If she did, if you assume that she did  
2           and he did flex his feet, would that indicate a  
3           functioning spinal cord?

4                   MR. ERICKSON:   I need to object to  
5           that question, John, for a couple of reasons.  
6           One, that's your summary of her deposition  
7           testimony. It may not be accurate, and I think  
8           you're asking him to assume things that aren't  
9           going to be in evidence and that are not facts in  
10          this case. Also, asking him to talk about the  
11          truth or veracity of another witness.

12          Q.       (BY Mr. Mencl) You can go ahead and  
13          answer the question. If you assume that she did  
14          that, would that indicate a functioning spinal  
15          cord?

16          A.       It may indicate that if he's truly  
17          moving in response to a direct question or a  
18          command to move, that doesn't mean that he's got  
19          -- doesn't have damage to the spinal cord which is  
20          going to be irreversible. It means at that point  
21          in time that he's still carrying neurological  
22          information. But again, it would be how she did  
23          it.

24          Q.       This one exhibit says Allin, DC. Dr.  
25          Allin made the comment that he could not

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1 reasonably get obliques on Friday night. Do you  
2 agree with that?

3 A. Yes, I think I mentioned that, that  
4 having a patient collared with the usual problems  
5 of attempting to get the patient immobilized and  
6 getting obliques may be more difficult.

7 MR. MENCL: I believe that's all  
8 the questions I have, Thank you.

9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**METROPOLITAN COURT REPORTERS, INC.**

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-7511

1  
2  
3  
4  
5  
6 GREGORY L. HENRY, M.D.  
7

8 Subscribed and Sworn to before me  
9 this 11<sup>th</sup> day of September, 1990.  
10

11  
12  
13 Notary Public  
14

SUSAN L. JENNETTE  
NOTARY PUBLIC-WASHTENAW COUNTY, MICH.  
MY COMMISSION EXPIRES 2-5-91

15 County of Washtenaw  
16

17 State of Michigan  
18  
19  
20  
21  
22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

I, KAREN KELLERMAN, a Certified  
Shorthand Reporter of the State of Kansas, do  
hereby certify:

That prior to being examined the witness  
was by me duly sworn;

That said deposition was taken down by  
me in shorthand at the time and place hereinbefore  
stated and was thereafter reduced to writing under  
my direction;

That I am not a relative or employee or  
attorney or counsel of any of the parties, or a  
relative or employee of such attorney or counsel,  
or financially interested in the action,

WITNESS my hand and seal this \_\_\_\_\_ day  
of \_\_\_\_\_, 19\_\_.

\_\_\_\_\_  
KAREN KELLERMAN, CSR

METROPOLITAN COURT REPORTERS, INC.

10100 Santa Fe Drive • Suite 110  
Overland Park, Kansas 66212  
(913) 383-3900 • 1-800-748-75 11

DATE \_\_\_\_\_