

Scanned

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

State of Ohio,)
) SS:
County of Cuyahoga.)

DOC-187

- - -

IN THE COURT OF COMMON PLEAS

- - -

DOROTHY SKEBE,)
)
 Plaintiff,)
)
 vs.) Case No. 127673
)
RICHMOND HEIGHTS GENERAL)
HOSPITAL, et al.,)
)
 Defendants.)

- - -


ARBITRATION TESTIMONY OF CREIGHTON G. HEYL, D.O.
Wednesday, February 22, 1989

- - -

The arbitration testimony of CREIGHTON G.
HEYL, D.O., a Defendant herein, called for
examination by the Plaintiff under the Ohio
Rules of Civil Procedure, taken before me,
Diane M. Stevenson, a Registered Professional
Reporter and Notary Public in and for the State
of Ohio, by agreement of counsel, at the offices
of Bentoff & Duber, 230 Leader Building,
Cleveland, Ohio, commencing at 10:20 a.m., the
day and date above set forth.

- - -

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge



1 APPEARANCES:

2 On behalf of the Plaintiff:

3 Mitchell Weisman, Esq.
4 Weisman, Goldberg,
5 Weisman & Kaufman Co., LPA
6 540 Leader Building
7 Cleveland, Ohio 44114

8 On behalf of the Defendants:

9 John Irwin, M.D., Esq.
10 Reminger & Reminger Co., LPA
11 The 113 Building
12 Cleveland, Ohio 44114

13 ARBITRATION PANEL:

14 Robert Soltis, Esq.- Chairman
15 Jerome Bentoff, Esq.
16 Theodore Ward, Esq.
17
18
19
20
21
22
23
24
25

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 MR. IRWIN: Gentlemen, my first
2 witness will be Dr. Heyl. In your defense
3 arbitration brief you will see Dr. Heyl's
4 curriculum vitae as well as Dr. Heyl's office
5 records.

6 - - -

7 CREIGHTON G. HEYL, D.O.

8 A Defendant herein, called for examination by
9 the Plaintiff, under the Rules, having been
10 first duly sworn, as hereinafter certified, was
11 examined and testified as follows:

12 DIRECT EXAMINATION

13 BY MR. IRWIN:

14 Q. Dr. Heyl, very briefly, if you would tell the
15 members of the Panel about your educational
16 background, and keep your voice up nice and
17 loud.

18 A. I sure will. My undergraduate program was at
19 the University of Michigan. I graduated in June
20 of 1971 with a Bachelor of Arts degree.

21 Then I went to Michigan State University
22 for my medicine degree, the College of
23 Osteopathic Medicine, and graduated in August of
24 1974.

25 In the osteopathic profession, we are

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 required to undergo a year of rotating
2 internship which is through all the fields of
3 medicine, and I did that down at Detroit
4 Osteopathic Hospital, which is an inner city
5 hospital. Then we also had a rotation by
6 county, which is a suburban hospital, and I
7 finished that in September of 1975.

8 Following this, I went into general surgery
9 to find out which field that I wanted to get
10 into and did this at Art Center Hospital, which
11 is downtown Detroit, graduating there in July of
12 1976.

13 I decided I wanted to go into orthopedic
14 surgery and went to St. Louis, Missouri for this
15 and finished there in December of '79.

16 I went into private practice immediately
17 after my residency and went to Traverse City,
18 Michigan and was up there until 1980.

19 My wife had the calling to go into law
20 school, and there are no law schools up in
21 Traverse City, Michigan, so I put out feelers
22 for different areas to move to and we ended up
23 coming to Cleveland, Ohio.

24 I have been at Richmond Heights Hospital
25 since November of 1980 to the present. I'm on

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 staff at Richmond Heights General Hospital and
2 also the Lake Hospital System.

3 I have licenses in Ohio, Michigan and
4 Missouri. I'm Board certified. I passed my
5 test in March of 1984.

6 Board certification is additional testing
7 that you take after you complete your
8 residency. It consists of three parts. The
9 first is a written part, and then after that you
10 take an oral, that is about a year later, and
11 then a year after that you are allowed to take
12 the practical, which is where they come and
13 observe you do surgery and go through all of
14 your chart records and x-rays and everything.

15 They determine that if you have passed all
16 three of those parts that you are Board
17 certified, and this is by the Board of
18 Orthopedic Surgery and the American Osteopathic
19 Academy.

20 I belong to several organizations, the
21 American Osteopathic, the Cleveland Academy,
22 Ohio Osteopathic, American Osteopathic Academy
23 of Orthopedic Surgery, sports medicine section,
24 and the American Academy of Orthopedics.

25 Q. Are you on any committees at your hospitals,

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 Doctor?

2 A. Yes. I'm on the Forms Committee, which
3 determines the forms that we have for different
4 consents and for charts, and also I'm on the
5 Tumor Board Committee, which meets monthly, as
6 does the Forms, and also the Executive
7 Committee, which is an elected position.

8 Let's see. Also, I'm a Program Director
9 for orthopedics training at Richmond Heights
10 Hospital. I'm a clinical instructor.

11 Q. Doctor, as a percentage, how much of your time
12 in the practice of medicine is engaged in the
13 clinical practice of medicine?

14 A. 100 percent.

15 Q. Doctor, let's turn now to your care and
16 treatment of the Plaintiff, and tell us when you
17 first saw Ms. Skebe.

18 A. I saw her first in 1982 at the request of
19 Dr. Emil Pogorelec, who was her family
20 physician.

21 Q. What was the problem?

22 A. As everyone has talked about, Dorothy was having
23 complaints regarding both of her hands and wrist
24 and experiencing discomfort with numbness into
25 the fingers and weakness in the hands.

1 She was being awakened from sound sleep due
2 to the pain and the numbness in the fingers, and
3 the pain would radiate up the arm and down into
4 the fingers, which is classic for the diagnosis
5 of carpal tunnel syndrome.

6 Q. What did your examination reveal? First of all,
7 let's make sure we know when this was. What was
8 the date of that?

9 A. Dr. Pogorelec, this is the consult that I
10 carried out on 3/25/82.

11 Q. Yes. That is in the brochure.

12 What did your exam reveal?

13 A. The exam revealed the classic signs and symptoms
14 of carpal tunnel syndrome. The two major
15 studies, clinical findings, are the Tinel sign,
16 which is where you tap the wrist where the
17 median nerve is and they get electric-type shock
18 along the fingers along the distribution of the
19 median nerve, and the phalanx sign is where they
20 flex the wrist at 90 degrees and the fingers
21 fall asleep. When that happens, that puts
22 additional compression or pinching of the nerve
23 in the carpal tunnel.

24 Also, she had decreased sensation along the
25 median nerve distribution, which includes the

1 thumb, the index, the middle finger, and the
2 radial or thumb-side of the fingers. That is
3 all controlled by the medial nerve.

4 The ulnar nerve controls the ring and
5 little fingers. That was not affected in
6 Dorothy's or other carpal tunnel cases.

7 Q. Did you have any laboratory studies done?

8 A. Yes. She had a nerve study test by Dr. Coppola
9 to document that there was compression of the
10 median nerve.

11 Q. So what was your diagnosis?

12 A. Bilateral, which means both wrists, carpal
13 tunnel syndrome, which is compression of the
14 median nerve and the carpal tunnel.

15 The carpal tunnel is formed by fibro-
16 osseous canal. The bones are on the lower part
17 of the canal, which is on the dorsal surface of
18 the wrist, and then on this side is called the
19 flexor retinaculum, which is fibrous tissue
20 which runs cross-ways out the palm of the hand
21 to about this point, and this way to the wrist
22 and the hand. It does not extend out into the
23 fingers.

24 In several cases, such as Dorothy's, the
25 problem is where that ligament gets thickened or

1 what we call hypertrophied and decreases the
2 space that the nerve has to pass in that canal
3 or tunnel.

4 The treatment, when it has been going on
5 for a prolonged period of time, which it had
6 been in Dorothy's case, is to go ahead and
7 release that carpal tunnel or cut that fibrous
8 ligament that runs across the wrist.

9 That frees up the nerve and takes away the
10 compression. It takes away the pain and allows
11 them to sleep through a full night without being
12 awakened.

13 There are several other medical reasons for
14 carpal tunnel, but that was not Dorothy's case.

15 Q. What is the prognosis for this, Doctor?

16 A. The prognosis is very good. Very, very few
17 people ever have recurrence of carpal tunnel.

18 One you release that ligament, they don't seem
19 to have recurrence.

20 They can go back to their regular work.
21 They regain full strength in their wrist. They
22 have full motion with some therapy because there
23 is some initial--afterwards they have discomfort
24 and they don't want to move the wrist, but after
25 a proper time of a week, we take the stitches

1 out and start trying to get them to move.

2 If they don't start to move, then they can
3 get scar tissue binding down that area and cause
4 problems, so it is very important to have an
5 aggressive therapy program.

6 So prognosis is very good for carpal
7 tunnel.

8 Q. What is the prognosis without surgery?

9 A. It is a progressive compression of the nerve
10 and, initially, it affects the sensory or the
11 sensation, and then it can go on to affect the
12 motor function of the nerve where they start to
13 actually lose function of those fingers, and you
14 don't want that.

15 Q. What do you mean by function of the fingers?

16 A. Well, the motor function, which is the flexion,
17 the bending. The moving of the fingers can be
18 affected by the median nerve, and they start to
19 get atrophy, which is loss of muscle, in the
20 muscles that are innervated or controlled by
21 this nerve.

22 Once you get to that point, the prognosis
23 is much poorer for full recovery because they
24 have had longer, more severe compression of that
25 nerve.

1 Q. Now, what was your recommendation to Ms. Skebe,
2 then, in March of 1982?

3 A. My recommendation was for release of the carpal
4 tunnel.

5 Q. Did you discuss this with her?

6 A. Yes.

7 Q. Tell us what you discussed with Ms. Skebe.

8 A. The standard discussion. I cannot--I have tried
9 to recollect exactly talking to her, but dating
10 back to '82 and '84, it is a little difficult.

11 My standard discussion with people that I'm
12 taking to surgery for carpal tunnel release is,
13 number one, to explain to them what the surgery
14 is, why we were doing the surgery and where the
15 scar is going to be so that they are aware of
16 that, and what some of the potential complica-
17 tions are of any surgery, and especially of this
18 surgery, and one of them is that, as after any
19 surgery, you can get infection and you can have
20 blood loss.

21 We do do the procedure under tourniquet
22 control so that you have good visibility during
23 the surgery of the anatomy as you are operating
24 on it, but after you let down the tourniquet,
25 there is some blood loss, and they may see blood

1 on their dressing. So I make sure they know
2 that is nothing to be concerned about.

3 Any time you are dealing with the median
4 nerve, there are several branches of the nerve
5 that you have to be aware of, and that there is
6 the potential for cutting these nerves.

7 Any textbook that talks about carpal tunnel
8 surgery will tell you that these nerves are in
9 this area and that that is a complication that
10 is not uncommon. You try to avoid it.

11 One of the branches is called the palmar
12 cutaneous nerve, and that's a nerve that leads
13 from the median nerve into this area of the palm
14 around the thenar eminence. That nerve runs off
15 the radial or thumb side of the nerve. Also,
16 the motor nerve runs off that side.

17 You try to keep the incision over more
18 toward the little finger side to try to avoid
19 that. Either go down the middle or a little bit
20 to the side. Definitely, the dissection stays
21 off to the side.

22 Q. Do you remember specifically talking to
23 Ms. Skebe about this palmar cutaneous nerve and
24 each of the branches?

25 A. Not specifically this nerve. I do tell the

1 patients there is potential for nerve damage,
2 and if they ask what type of nerve damage, I'm
3 willing to go in great detail as to the median
4 nerve and what some of the potential complica-
5 tions can be of that nerve if there is damage
6 done to it.

7 Q. Now, jumping ahead for just a minute, we know
8 that there has been a nerve injured in her hand.

9 A. Correct.

10 Q. Is that one of the branches of the median nerve?

11 A. That is a branch more distal of the medial
12 nerve, into the palm of the hand.

13 There are different anatomical variations
14 of the nerve, and you try to make sure that you
15 avoid--that you aren't coming across a patient
16 who has a variation of the nerve. But that is a
17 branch of the median nerve. It goes to the
18 digit.

19 Q. Doctor, I just want to hand you a copy of the
20 Richmond Heights medical record. Can you tell
21 us what that is?

22 A. Yes. This is a standard consent form that as
23 long as I have been at Richmond Heights the
24 hospital has used.

25 Q. Is that your signature on the bottom of that?

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 A. Yes, it is.

2 Q. What does that indicate to you?

3 A. That indicates that we have discussed with the
4 patient the potential complications of the
5 surgery, and the patient has read the print in
6 there and they have signed it and are aware
7 that, number one, there are potential for
8 complications and, number two, if something
9 comes up at surgery that you feel has to be done
10 and it is important for this case, that the
11 patient has given you the permission to go ahead
12 an do that rather than wake them up and ask
13 them.

14 Q. Now, the date of that form is 1982?

15 A. That is the '82 one.

16 Q. I'm going to hand you a second consent form from
17 the records and ask you to identify the second
18 one for us.

19 A. That is from the '84 surgery.

20 Q. That is the same sort of thing before the second
21 carpal tunnel operation?

22 A. That's correct.

23 Q. Is that Ms. Skebe's signature on the bottom of
24 that form, to your recollection?

25 A. To my recollection.

1 Q. Now, Doctor, before you operated on Ms. Skebe in
2 1982, give us an approximation of how many
3 carpal tunnel operations you had done in your
4 career.

5 A. Well, during my residency, which was three years
6 in St. Louis, we had a very active carpal tunnel
7 surgery program during the residency. Of
8 course, this is a common surgery that we do in
9 orthopedics, and it is a very good learning
10 procedure for residents, and that is because the
11 head surgeon allows the residents, under his
12 supervision, being there, to do the procedure.

13 So I would say I would average at least 50
14 a year during my residency, and that's a total
15 of 150 during three years there. As you get
16 into your senior program, you do more of the
17 procedures.

18 Then, as I got out into practice, it would
19 probably not average that many per year because
20 of being solo, and we had four orthopedic
21 surgeons that we worked with during our
22 residency. So probably an average of about, I
23 will say in '82, which I had been out since
24 November of '79, that is two years, probably
25 about close to 75 or 100 by this time, by '82

1 and, of course, more by '84.

2 Q. So this was an operation that you had done more
3 than 150 times, I take it?

4 A. Oh, yes.

5 Q. And did you feel in your medical opinion that
6 you were competent and experienced enough to do
7 this kind of an operation for Dorothy Skebe?

8 A. Yes. I felt that I had had good results in the
9 past and felt comfortable doing the procedure.

10 Q. In this particular case in 1982 and again in
11 1984 for Ms. Skebe, did you do the operation or
12 did you have a resident?

13 A. No, I do not have a resident that I'm training.
14 I do all my own surgeries.

15 Q. Now, during the operation on Dorothy Skebe in
16 1982, did you have any complications during the
17 surgery? Did you encounter any problems?

18 A. No.

19 Q. Tell us what her postoperative course was like
20 after that operation in 1982.

21 A. In 1982--I can refer to my chart? I first saw
22 Dorothy 10 days after the surgery, and she had
23 aching in both of the hands.

24 I had protected her with wrist splints
25 after the surgery.

1 Q. Did she have pretty much a generally normal
2 recuperation after her operation in '82?

3 A. I would say so.

4 Q. She had no problems with infection or anything
5 like that?

6 A. No.

7 Q. According to your memory and your notes, did she
8 have a good result from your operation in 1982?

9 A. Yes.

10 Q. So then what happened later on? You have heard
11 her testify today that symptoms returned at some
12 point later. Tell us what your recollection is
13 with respect to that.

14 A. Prior to the '84 surgery, my chart notes jump
15 from '82 to '84 and, like Dorothy had mentioned,
16 she works at the hospital. Frequently, rather
17 than make her come into the office, I would
18 examine her or discuss her case in the hospital
19 itself.

20 I'm trying to find my notes from '84. Yes,
21 there is a consult that I again did for
22 Dr. Pogorelec on 4/22/84, which explained how
23 Dorothy was having persistant left wrist pain
24 and weakness on grip, and she did well but
25 progressed slowly after that surgery.

1 Q. And did she then develop, after the March, 1982
2 surgery, a problem with her left shoulder?

3 A. Right, she had been diagnosed as having a left
4 thoracic outlet syndrome.

5 Q. Do you have records in your office chart that
6 relate to that problem?

7 A. Just in the consult that I have here on 4/22/84
8 making mention of that, but I'm--

9 Q. Why don't you tell us about thoracic outlet
10 syndrome.

11 A. Sure.

12 Q. Is that something which you, as an orthopedic
13 surgeon, are familiar?

14 A. Oh, yes.

15 Q. Tell us about it.

16 A. I see a lot of thoracic outlet syndrome and
17 refer that to Dr. Medina for the care of it.
18 But because I'm in orthopedics, where patients
19 have shoulder and arm pain, it is very, very
20 common. I probably see it more than any other
21 orthopedic surgeon in the city, I think, and the
22 symptoms that they complain of is pain in the
23 shoulder extending down the arm with weakness
24 into the hand and the arm. They fatigue very
25 easily with that.

1 Q. Why is that? Explain the reason for the
2 weakness and the easy fatigability.

3 A. Well, the muscles are innervated by the
4 neurovascular structures that are being
5 compressed in the thoracic outlet, which is
6 another tunnel type of an area where these
7 vessels and nerves pass between the first rib
8 and the pectoralis minor muscle.

9 Q. What muscles are those that you are talking
10 about?

11 A. In the front of the shoulder. The pectorals is
12 the first muscle, and deep in the armpit area.
13 All the nerves come from the neck, and the
14 vessels come from the neck through the axilla
15 and down to the hand.

16 Q. What muscles in the arm and hand are affected by
17 this condition?

18 A. The ulnar muscles and nerves. There can be
19 variations where one part of the brachial
20 plexus, which is the collection of the nerves,
21 is affected more than the other.

22 There are three different trunks of this
23 plexus, and the lower trunk is the more common
24 portion of the brachial plexus to be affected,
25 and that affects more of this half of the arm,

1 but it can affect all of it and be aggravated
2 especially when they do work overhead or above
3 their waist, at least. That seems to aggravate
4 it the most.

5 Q. Doctor, when I close my hand and make a fist,
6 what muscles are involved in that action?

7 A. Those are all the muscles.

8 Q. Where are those muscles located?

9 A. Those muscles are in the forearm, and they lead
10 to tendons which move the fingers.

11 Q. When I make a fist like this or close my hand
12 around the pen, are there muscles in the hand
13 that are involved?

14 A. Not with--sometimes with spreading would be
15 muscles called lumbricales, which affect this
16 motion, muscles between the fingers. A little
17 bit of that may be a part of holding onto
18 something, but these are tendons which are
19 affected by the muscles in the arm.

20 Q. Are these muscles in the arm the ones that are
21 connected in this thoracic outlet condition?

22 A. That's correct.

23 Q. We know, jumping ahead a bit, that the nerve
24 that is involved in the numbness that Ms. Skebe
25 has in her fingers is located where?

1 A. The nerve is in the palm of the hand.

2 Q. Does that have anything to do with the muscles
3 that close the hand?

4 A. No.

5 Q. Is that nerve involved in her numbness? Does
6 that have anything to do with the motion, the
7 motor function?

8 A. No, it doesn't.

9 Q. So what happened then with respect to
10 Ms. Skebe's thoracic outlet problem in 1984?

11 A. Well, Dr. Medina did release the thoracic
12 outlet. However, I understand from today that
13 she is having still some persistent weakness in
14 the arm, and that is not unusual for thoracic
15 outlet to recur, more so than it is for carpal
16 tunnel to recur.

17 Q. Doctor, you have heard Ms. Skebe testify today
18 about her problems with numbness in her finger,
19 and also you have heard her testify about
20 problems that she has with weakness or easily
21 tiring out.

22 Can you tell us, as a physician, what the
23 cause, first, of that easy fatigue and that
24 weakness is in this situation?

25 A. That's a motor function which I would say would

1 be due more to a problem with the higher up
2 nerve and maybe vascular problem and would be,
3 in her case, more likely due to maybe some scar
4 tissue in the brachial plexus.

5 Q. Does that have anything to do, Doctor, in your
6 ✓ opinion, with a problem with the cut nerve down
7 in her hand?

8 A. No.

9 Q. What about the numbness, can we agree that the
10 numbness in her web space is due to the cut that
11 we talked about earlier?

12 A. Yes.

13 Q. But the other symptoms--

14 A. No, just the numbness.

15 Q. Now, Doctor, you performed a second carpal
16 tunnel release in April of 1984, correct?

17 A. Yes.

18 Q. I want you to tell us about that operation.

19 A. When Dorothy again was having her recurrent
20 symptoms with the dropping of items from her
21 hand and the tingling or the paresthesias and
22 dysesthesias into the fingers, which is
23 associated with carpal tunnel, and they are in
24 my opinion, I felt that she had formed scar
25 tissue in the surgical area.

1 So I discussed with her the options that we
2 had. One was of doing nothing and trying to
3 live with her condition, and if this was not a
4 valid option, that then the next would be to go
5 back in and explore the surgical area and
6 release the adhesions that had formed around the
7 nerve area.

8 Q. Is that what you did, then?

9 A. That is what I did.

10 Q. During that operation, did you encounter any
11 complications or difficulties during the
12 operation?

13 A. There was a lot of scar tissue in that area,
14 which makes it a more difficult case, and you
15 have to be more cautious. You have to be
16 cautious with any surgery but, in this case,
17 more cautious.

18 To the best of my ability I felt that I had
19 released the scar tissue at the surgical area
20 where I had operated before and did not feel
21 that I had any complications, to my knowledge.

22 Q. Now, as we subsequently know, it turned out that
23 there was a nerve injury during that operation.

24 A. Correct. That's correct.

25 Q. First of all, when you were doing that

1 operation, did you know that? Were you aware of
2 that nerve being injured?

3 A. No, I wasn't.

4 Q. I want you to explain to the panel why that is.

5 A. The surgical area--you know, I was taken
6 completely by surprise to find out that Dorothy
7 had had that nerve lacerated. At the time of
8 surgery she did have scar tissue, and I
9 dissected that scar tissue very carefully.

10 Now, the surgical area that I was concerned
11 with was out into the palm area, which was where
12 I had operated before. We do dissection
13 carefully with scissors and try to gently ease
14 the scar tissue off of the nerve.

15 The only thing I could believe, and I know
16 that the nerve was cut, would be that the tips
17 of the scissors extended out further than what I
18 was aware of and lacerated the nerve.

19 Q. Now, Doctor, you have seen Dr. Fleegler's
20 report, haven't you?

21 A. Yes.

22 Q. Dr. Fleegler is critical of you for not having
23 made an incision, apparently, where the first
24 incision was. What is your explanation for
25 that?

1 A. My explanation is that I was looking for scar
2 tissue in the area where I had operated before,
3 and that was within the confines of the extent
4 of that ligament. That ligament extends out to
5 here.

6 I have no problem in my surgical exposure
7 with the incision carried out into the palm this
8 far of seeing the full extent of the flexor
9 retinaculum, which is the ligament that was cut,
10 and evaluating the scar tissue in that area.

11 I had no intention and have not even a
12 thought of extending out and exploring the
13 digital nerves, which are further out into the
14 palm of the hand. That was not Dorothy's
15 problem at the time. The problem was at the
16 carpal tunnel, which is much more proximal or
17 more toward the elbow.

18 Q. Now, Doctor, do you have an opinion, as an
19 orthopedic surgeon, based upon reasonable
20 medical certainty, as to whether you failed to
21 comply with accepted standards of practice for
22 an orthopedic surgeon in your performance of the
23 operation on Dorothy Skebe in April of 1984?

24 A. No, I felt that I had good results with
25 relieving her symptoms of the carpal tunnel.

1 Q. That wasn't my question,?

2 A. Okay.

3 Q. My question was: In performing that operation
4 on Dorothy Skebe, do you have an opinion as to
5 whether you, as an orthopedic surgeon, complied
6 with and met accepted standards of practice for
7 an orthopedic surgeon?

8 A. Yes, I felt I did.

9 Q. I would like you to tell us what her postopera-
10 tive course was, in general, after that April,
11 1984 operation.

12 A. Well, after the operation, Dorothy progressed as
13 far as the wrist goes similar as she had after
14 the first surgery, with the additional complaint
15 immediately--well, within I guess hours,
16 according to Dorothy--of having the numbness in
17 the fingers.

18 In my mind, and I can recall this, I can
19 recall that she had not only the problem with
20 the thoracic outlet, but also had a great deal
21 of swelling.

22 My thought process at the time was that
23 this numbness would resolve with a decrease of
24 the swelling and the resolution of the thoracic
25 outlet surgery, because that causes a great deal

1 of swelling around those nerves that go down to
2 the hand. Thoracic outlet also causes numbness
3 into the fingers, and that was my thinking
4 process.

5 As far as the remainder of her progress,
6 she was to go to physical therapy. Her sutures
7 were removed at the normal time. She did not
8 develop any infection. She did dutifully come
9 in to see me in my office and follow-up and--

10 Q. Doctor, did you ever cut her off and tell her
11 you didn't want her to come back anymore?

12 A. Absolutely not. I know that Dorothy stated to
13 me that she felt that I was shutting her off. I
14 recall one time that she said that I ignored her
15 in the hallway.

16 To be honest, I never saw her in the
17 hallway, but she was a very emotional person,
18 and she felt that I was ignoring her.

19 Q. Doctor, what is your last note in your office
20 chart about Ms. Skebe?

21 A. This goes to 3/20/85.

22 Q. What was your last recommendation to her at the
23 end of that note?

24 A. The last recommendation--

25 Q. What were your instructions to her?

1 A. Let me see. She had full range of motion,
2 carrying out her job well. Let me see which
3 note--

4 Q. Just the last sentence of that last note. What
5 were your instructions to her?

6 A. She is to see me in the office.

7 Q. And that was after she was to have an EMG?

8 A. By Dr. Coppola.

9 Q. Ms. Skebe had testified to us today that she
10 thought she wasn't supposed to come back to you,
11 and you would disagree with that?

12 A. I would. I never turn patients away.

13 Q. She then did have, shortly thereafter, another
14 EMG by Dr. Coppola; did she not?

15 A. Yes.

16 Q. That was April 1st, 1985?

17 A. That's correct.

18 Q. She did not return to you thereafter?

19 A. No.


20 Q. Now, Doctor, have you had a chance to take a
21 look through some of the Cleveland records,
22 Dr. Fleegler's surgery, things like that?

23 A. Yes, I have.

24 Q. Before I get into that, what did you charge
25 Dorothy for your surgery?

1 A. My carpal tunnel release is \$600 per wrist, so
2 the first surgery was a total of \$1,200, and for
3 the second surgery we charge \$900. It was a
4 more extensive surgery.

5 Q. Tell us briefly about what Dr. Fleegler did,
6 based upon your review of his records.

7 A. Dr. Fleegler was aware, based on the
8 neurologist's report, that there was a digital
9 nerve cut, and he was looking for this nerve.
10 He extended the incision way out past where
11 normal carpal tunnel is. 

12 Q. Now, when you say "extended the incision," what
13 do you mean by that?

14 A. He extended or made his incision longer out
15 toward the fingers looking for the nerve, and I
16 guess he had in his mind that if he found the
17 nerve cut, he was going to do a procedure where
18 they take a nerve graft, very, very tiny nerve
19 and try to connect the nerves together with
20 multiple very, very tiny sutures.

21 Q. Is this a common type of an operation performed
22 by Dr. Fleegler?

23 A. No, this is not common.

24 Q. Is this the type of operation that you, as a
25 general orthopedic surgeon, would be doing?

1 A. No.

2 Q. What kind of a doctor does this kind of an
3 operation?

4 A. These are hand surgeons, hand surgeons that
5 specialize in peripheral nerve injuries.

6 Q. Now, in your own words, Doctor, tell us what you
7 think is the cause of Ms. Skebe's complaints
8 that you have heard today. What is the cause of
9 her problems today?

10 A. I feel that that third common volar digital
11 nerve was cut inadvertently during her second
12 surgery in 1984.

13 Q. And her other complaints, the weakness and the
14 fatigue?

15 A. I feel that that would be due to a motor problem
16 and much higher upper proximal level of nerve
17 and vascular location.

18 Q. Now, based upon your knowledge and your training
19 and your experience with orthopedics, how would
20 you anticipate that Ms. Skebe's problems of
21 numbness will, if in any way, interfere with her
22 ability to function?

23 A. I don't feel that it would inhibit her ability
24 to function.

25 Q. Tell us why.

1 A. Well, it is in a location, as Dorothy has shown
2 us, that it does not interfere with writing or
3 knitting. It is involving a portion of the
4 finger which is not really a sensory location or
5 a location we feel things with.

6 As she mentioned, and I still feel that, we
7 are lucky that it did not involve the thumb and
8 index and the thumb side of her middle finger,
9 which is, as you can see yourself, just where we
10 do all of our work with.

11 Q. It has been pointed out that Dr. Wilbourn has
12 testified in his deposition on page 16 of
13 Dr. Wilbourn's testimony, that Dr. Wilbourn,
14 himself, had some problems.

15 Mr. Weisman had read to you a section of
16 Dr. Wilbourn's testimony commencing--excuse me--
17 on page 27, which I want to ask you a question
18 about.

19 Dr. Wilbourn testified, beginning at Line
20 23, "But I can tell you from my own personal
21 experience that that is extremely annoying. I
22 had one digital nerve cut in one finger several
23 years ago in my dominant hand and, actually, I
24 cut it myself when I was trying to lay tile.
25 Never do things like that.

1 "It was inadvertently sutured when I let an
2 inexperienced resident sew it up rather than
3 bothering the hand surgeon. That is another
4 thing I will never do.

5 "The end result was I had electrical shock
6 every time I touched a patient to put down a
7 needle. When I tried to convince a hand
8 surgeon, he just couldn't believe that it was
9 that annoying until I finally told him I wanted
10 him to amputate the finger because I would
11 rather not have the finger than to be bothered
12 with it the way that it was."

13 Now, Doctor, that story that Dr. Wilbourn
14 testified to, is that comparable to what
15 Ms. Skebe's condition is?

16 A. No, it is not.

17 Q. Why is that?

18 A. Well, the resident sutured the nerve, and this
19 created what we call a neuroma, and this is very
20 sensitive. When you do touch that, it does send
21 electric shocks, but this is not the same as
22 what we are talking about with Dorothy.

23 Q. So I take it the numbness is different than
24 electrical shocks?

25 A. Absolutely.

1 MR. IRWIN: That is all. Thank
2 you very much.

3 - - -

4 CROSS-EXAMINATION

5 BY MR. WEISMAN:

6 Q. Your deposition was taken previously in this
7 case in September of 1988. At that time you
8 were under oath, correct, like you are now,
9 sworn to tell the truth?

10 A. That's correct.

11 Q. On page 24, I put a question to you. Do you
12 recall that the question was--this is page 24,
13 line eight: "Do you feel it happened at
14 surgery--"

15 In fact, let me read this line before.
16 "But this question just goes to the cause of
17 numbness. Do you feel it happened at surgery or
18 do you know of any other cause?" and your
19 answer--do you recall your answer was: "I don't
20 know what caused the numbness." Correct?

21 A. Yes. Do you want a response to that?

22 Q. No, I just wanted to ask you if that is what you
23 said just five months ago.

24 A. Yes. But you didn't follow that through.

25 MR. IRWIN: Do you want to let him

1 explain?

2 A. Well, as I have already told the Panel, I still
3 am bewildered as to how that happened. When I
4 saw what was caused, I'm still bewildered as to
5 how that could have happened, and that is what I
6 meant by that statement.

7 Q. Okay. You are bewildered, but you just
8 testified when Dr. Irwin asked you with
9 reasonable medical certainty, you testified that
10 the cause, to your knowledge, was that it was
11 lacerated during surgery.

12 A. Right.

13 Q. And you have no dispute with Dr. Fleegler's
14 findings that--

15 A. That's correct. We have a terminology
16 difference here.

17 Q. Let me ask you this: Is there anywhere in this
18 deposition, as I asked you questions about the
19 cause in September, in September of '88, did you
20 admit anywhere that that was the cause?

21 A. I think at the end, yes, where I talked about
22 the findings of Dr. Fleegler.

23 Q. Specifically, I'm asking you: Did you ever
24 admit in your deposition five months ago that
25 the cause of the loss of sensation in the two

1 fingers was the surgery of April 24 of '84? I
2 asked you a number of times.

3 A. I know what you are saying, yes, and I'm trying
4 to find it.

5 Q. What page?

6 A. On page 39, Dr. Fleegler, line six and seven--
7 wait a minute.

8 Q. I can keep going and your attorney can look for
9 you.

10 A. Okay, sure. In there I did talk about it.

11 Q. This surgery, you said, was a fairly common
12 surgery, correct?

13 A. Yes.

14 Q. And that was a carpal tunnel release, and you
15 had done several hundred in your career?

16 A. Yes.

17 Q. This particular complication, loss of sensation
18 of these two fingers, you have never seen that
19 problem as a result of the surgery in your
20 * experience, correct?

21 A. As I mentioned in my deposition, if that did
22 happen during my residency, I was not aware
23 because we rarely followed patients in the
24 office.

25 Q. And the approximately 300 that you did, you have

1 never had that?

2 A. ✓ No, I haven't.

3 Q. ✓ You have not retained an expert besides yourself
4 to testify on your behalf, correct?

5 A. ✓ No, I haven't.

6 Q. Now, if I understand your testimony, you felt
7 the surgical site where the incision was made by
8 yourself, that was, I guess you could say,
9 proximal to where the nerve was injured,
10 correct? In other words, closer to the elbow
11 than where the nerve was actually damaged,
12 correct?

13 A. ✓ Correct.

14 Q. ✓ And I think your word was inadvertent. In other
15 words, this cut was made of the nerve, but you
16 were not intending to do that, correct?

17 A. ✓ Right.

18 Q. Now, you are not a neurologist, correct?

19 A. No, I'm not.

20 Q. And the neurologist, if I understand correctly,
21 deals with the nervous system, right?

22 A. Correct.

23 Q. Nerves, the brain, the spinal cord; is that
24 fair?

25 A. That is fair.

1 Q. Dr. Wilbourn is a neurologist, correct?

2 A. Correct.

3 Q. ✓ I take it you would defer to Dr. Wilbourn on any
4 discussion on nerves and the nervous system,
5 generally. I mean, he has more expertise in
6 that area. Is that fair?

7 A. ✓ Yes.

8 Q. When Dr. Wilbourn feels that it is an
9 appropriate analogy, not that it is exactly the
10 same injury, but when he compares the injury
11 that he had on a digital nerve to Dorothy's
12 injury, you may differ with the analogy, might
13 not agree that it is a good one, but he
14 certainly has the expertise to make that
15 analogy; can we agree with that?

16 A. The analogy is nowhere even close. I don't
17 understand how he can even compare the two.

18 MR. WEISMAN: That is all that I
19 have.

20 MR. IRWIN: Just one or two.

21 - - -

22 REDIRECT EXAMINATION

23 BY MR. IRWIN:

24 Q. Dr. Wilbourn's analogy was somebody with
25 electrical shooting pain.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 A. That's correct.

2 Q. That is not what we have here?

3 A. That is with a neuroma.

4 Q. Do you remember telling Mr. Weisman that you
5 thought this had to happen during the second
6 surgery on the severing of the nerve on page 28?

7 A. Yes. I couldn't find it.

8 MR. IRWIN: That is all I have.

9 MR. WEISMAN: One more question.

10 - - -

11 RECROSS-EXAMINATION

12 BY MR. WEISMAN:

13 Q. Let's go to page 28 because I--just for
14 clarification, you are referring to lines three
15 through six?

16 MR. IRWIN: Correct.

17 Q. Your statement was that I presume it would have
18 to happen during the second surgery, right?

19 A. Yes.

20 Q. And that is after you were asked about the
21 findings of Dr. Fleegler, correct?

22 A. Correct.

23 Q. But just for clarification, when you were asked
24 directly what you thought the cause of the
25 problem was, your answer was five months ago

1 that you didn't know.

2 A. No. Again, you are misinterpreting what I said
3 there.

4 MR. WEISMAN: Well, that is all I
5 have.

6 THE WITNESS: That is not the
7 interpretation.

8 MR. WEISMAN: No further
9 questions.

10 MR. IRWIN: No, sir, I do not.

11 MR. BENTOFF: You were operating
12 in this area?

13 THE WITNESS: That's correct.

14 MR. BENTOFF: The laceration
15 occurred up here?

16 THE WITNESS: I'm not exactly--I
17 don't think that I have ever--

18 MR. BENTOFF: You made a comment,
19 although I'm not sure, you said you thought the
20 tip of the scissors extended out further--

21 THE WITNESS: That is the only way
22 that I can explain it.

23 MR. BENTOFF: --than you intended.
24 I mean, the laceration would be somewhere in
25 this area away from that area?

(No anatomical variation)
1 THE WITNESS: Unless she had
2 anatomical variation that made it closer.

3 MR. BENTOFF: You said there was
4 no anatomical variation. Assuming there wasn't
5 any, that, in all likelihood, would be what
6 occurred. Am I correct in what you had said
7 before, that you think the tip of the scissors
8 extended out further than it should have done?

9 THE WITNESS: If I have to
10 explain, the nerve was cut.

11 MR. BENTOFF: And it was further
12 away from the adhesions. It wasn't in the area
13 of the adhesions?

14 THE WITNESS: That is the only way
15 I can explain it.

16 - - -

17 FURTHER REDIRECT EXAMINATION

18 BY MR. IRWIN:

19 Q. Let me clarify one point. Doctor, the variance
20 in the anatomy, do you know with reasonable
21 probability that Dorothy does not have a variant
22 of the anatomy?

23 A. No, I don't.

24 Q. What is the anatomy of that area? That's a good
25 question.

1 A. Sure. The digital nerve can branch off of the
2 medial nerve, which goes into the palm of the
3 hand at different locations, depending upon each
4 person, just the same as the palmar cutaneous
5 nerve can come off a different location and the
6 motor branch can come off a different location.

7 It is normal for us to have anatomical
8 variations. The area where the scar tissue was
9 located would be in this location where I had
10 done the surgery.

11 Now, you also get bleeding. Let me add
12 this to it: You get bleeding after surgery, and
13 blood causes fibrous tissue, which is scar
14 tissue, so you can actually get extension of the
15 scar tissue further out than where you cut the
16 ligament.

17 I must have seen some additional scar
18 tissue. I wanted to be very meticulous in
19 trying to make sure that Dorothy did not have
20 any symptoms after she woke up. I wanted her to
21 be painfree and have very good results with the
22 surgery, as I do with every surgery.

23 I must have seen some additional scar
24 tissue and felt that with my exposure I would be
25 able to easily dissect that area.

1 MR. SOLTIS: Knowing of anatomical
2 variations, doesn't that impose kind of a duty
3 to look out for that, to take precautions
4 against it?

5 THE WITNESS: Sure. I did not see
6 the nerve. I did not see the nerve. I saw scar
7 tissue, and I felt that I had meticulously
8 dissected that scar tissue.

9 MR. SOLTIS: The nerve, does it
10 merge with the scar tissue?

11 MR. IRWIN: Maybe you better
12 explain to these gentlemen who are not surgeons
13 what it looks like as far as scar tissue and
14 nerve tissue and try to get them to understand
15 what you are looking at.

16 THE WITNESS: If you look at an
17 anatomy book, everything is colored and pretty,
18 but when you get down to actually looking at it,
19 the nerve is pale, it is white. It blends in
20 with all the other tissues around it.

21 Sometimes you try to stay away from that.
22 If you are looking at what you are doing, and
23 you are just working on what you see, then you
24 avoid injuring the nerve.

25 MR. BENTOFF: Have you ever used a

1 pulsator?

2 THE WITNESS: The pulsator is for
3 irrigation, correct. Is that what you are
4 talking about where you irrigate things?

5 MR. BENTOFF: Where you know where
6 the nerves are, where you can see them jumping
7 around?

8 THE WITNESS: I can see a hand
9 surgeon, if they are doing the type of surgery
10 that Dr. Fleegler did, but for carpal tunnel and
11 for what I had intended, what I felt was her
12 diagnosis and what I wanted to do, I did not
13 feel that any kind of pulsator or nerve
14 stimulation was important or was necessary.

15 MR. IRWIN: Would a nerve
16 stimulator work for a sensory nerve like this
17 branch?

18 THE WITNESS: That's a good point,
19 too. Pulsators stimulate for muscle, for making
20 things jump.

21 MR. BENTOFF: Nerves?

22 THE WITNESS: Making nerves jump,
23 but not for sensory nerves. A sensory nerve
24 does not control motor. It does not make a
25 finger flex or move, and the patient is under

1 anesthetic, so they can't tell you if they are
2 feeling that electric shock like you can with,
3 say, a nerve conduction.

4 So for a sensory nerve, in this case it
5 would not have been of any benefit.

6 MR. BENTOFF: Nothing else.

7 MR. WARD: Just a clarification.
8 Dr. Fleegler went in, apparently, to cure this
9 problem. He was unsuccessful in that. Could
10 that be done again? Is there a cure?

11 THE WITNESS: To be honest, I
12 think the percentage--I don't even understand
13 why he tried to do it in the first place,
14 because the percentage of success with that has
15 to be very, very poor when you are dealing with
16 such a tiny nerve.

17 You are connecting a real little thing, and
18 he is putting ten sutures--you have to have a
19 magnifying glass or something. He is putting
20 eight sutures in each end of that, and I don't
21 think he would try it again, no.

22 MR. BENTOFF: That nerve will
23 never regenerate?

24 THE WITNESS: I think she is
25 actually getting sweating in the finger.

1 MR. BENTOFF: But will the nerve
2 regenerate four years later?

3 THE WITNESS: No, I don't think
4 that nerve will regenerate. But whether or not
5 she still has a chance of having some form of
6 improvement, I think that the neurologist
7 himself still was not absolutely positive to say
8 that that nerve will never improve.

9 He did not say 100 percent undeniably that
10 it was at its end point of improvement, and
11 Dr. Irwin himself told me that she was having
12 some sort of sweating on the fingers, which is a
13 sign of some innervation to the fingers.

14 MR. IRWIN: That is in the
15 Cleveland Clinic records.

16 MR. SOLTIS: How would that occur
17 if the nerve didn't regenerate? It is not like
18 capillaries that form when a blood vessel is
19 damaged.

20 THE WITNESS: Apparently there is
21 some connection between the proximal part of the
22 nerve and the distal part of the nerve to allow
23 this function to go on, and that was apparently
24 a good sign.

25 MR. WARD: One last question.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 Fleeegler once again stated that, if I'm
2 understanding this correctly in his report on
3 page 26 of Mr. Weisman's handout, it says that
4 there wasn't--he seems to be critical about
5 precautions to prevent such an injury, and it
6 was stated that usual precautions to attempt to
7 prevent such an injury were not followed.

8 What precautions were followed and what is
9 your comment on this statement?

10 THE WITNESS: My comment is that
11 the precaution that I take for damaging any
12 nerve is to have ^{at} good exposure ^U and to be able to
13 see the surgical area and, with my technique,
14 I'm able to see the nerve to the extent that I
15 need to see it.

16 Again, I'm not going out or I have no
17 intention in my surgery of going out into that
18 palmar area, because I did not feel that that
19 was where her problem was.

20 I felt that her problem was in the carpal
21 tunnel. We have a tourniquet on so there is no
22 blood in the field, and I felt that I had good
23 visibility of the surgical area where I was
24 working.

25 MR. WARD: These are the

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 precautions that you always do and you have done
2 for the 300 surgeries, and they are the ones
3 that are accepted?

4 THE WITNESS: Yes. I followed the
5 same--

6 MR. WARD: When he says the usual
7 precautions were not followed, what could he be
8 referring to then?

9 THE WITNESS: You would have to
10 ask him. I felt in my standard and my practice,
11 and I have had good results, that I had stuck
12 with the program, the protocol that my trainers
13 had given me.

14 When you do something and you do it well
15 and you have good results, you have no--I had no
16 thought that I was going to have any bad results
17 with the surgery, and she did turn out to have
18 good results to the carpal tunnel.

19 MR. BENTOFF: "The surgical site
20 was not exposed." He must be talking about
21 making the incision bigger.

22 MR. WARD: So he is saying in here
23 and I, of course, have not had a chance--if you
24 can help me out here one second, is he saying
25 that the incision was not large enough?

1 MR. WEISMAN: Exactly.

2 MR. WARD: In layman's terms, area
3 free from scarring. It says required for safe
4 exposure of the nerve. Adequate surgical
5 exposure of the nerve in question from an area
6 free of scarring approximately to an unscarred
7 area distally, if attainable, if it can be done,
8 is required for safe exposure of the nerve.

9 It is not a large enough incision to expose
10 it, so you can see what you are doing, I think
11 he is saying.

12 And your comment on that?

13 THE WITNESS: My comment is I felt
14 I had adequate exposure to see what my diagnosis
15 was, the reason for her problems, and I felt
16 that the exposure was very adequate, and I have
17 used that same exposure and used it again.

18 MR. WARD: And that is the
19 standard of medical care?

20 THE WITNESS: I believe so.
21 Again, their exposure was much more extensive
22 because they were looking for a digital nerve
23 problem. My exposure was to release scar tissue
24 at the surgical area where I had been before.

25 MR. SOLTIS: I'm sorry, I didn't

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge

1 hear it.

2 THE WITNESS: His exposure was
3 extended because he was looking for the digital
4 nerve injury, whereas when I was doing the
5 surgery, I was looking for exposure of the area
6 where the scar tissue was that had formed from
7 the first surgery.

8 MR. BENTOFF: Had you ever done
9 one of these where you operated on the same
10 wrist a second time?

11 THE WITNESS: I never had to.

12 MR. BENTOFF: This is the first
13 one you had ever done?

14 THE WITNESS: As far as a
15 recurring carpal tunnel.

16 MR. BENTOFF: This the first time
17 you had to go in scarring from a previous
18 surgery?

19 THE WITNESS: That's correct.

20 MR. BENTOFF: Why was there so
21 much scarring if, in fact, she had physiotherapy
22 after the first surgery?

23 THE WITNESS: Again, some people
24 are scar formers, and she is having scar
25 apparently form on her right wrist now. She

1 probably is getting scar tissue in her thoracic
2 outlet area, too.

3 Dr. Medina, who has done multiple thoracic
4 outlet, has had a number of re-dos because of
5 people that form scars underneath the skin.
6 They heal the incisions very well but, for some
7 reason, usually a collection of blood, or
8 whatever, that causes scars to form.

9 MR. SOLTIS: On Dr. Fleegler's
10 report just beyond the middle of the first
11 paragraph, it says, "My opinion, then, in such
12 situations adequate surgical exposure of the
13 nerve in question from an area free of scarring
14 proximally to an unscarred area distally, if
15 attainable, is required for safe exposure of the
16 nerve," it seems to indicate that you should
17 have tried to expose the nerve beyond the area
18 of the scarring.

19 Is that what he is saying?

20 THE WITNESS: Yes, that is what he
21 is saying in retrospect.

22 MR. SOLTIS: How do you explain
23 that?

24 THE WITNESS: At that time I felt
25 that I had adequate exposure. I was seeing what

1 I felt was her problem and took care of the
2 problem through my exposure.

3 Again, I had no idea that that nerve was
4 lacerated or involved with it, with the surgical
5 site, and it definitely wasn't done
6 intentionally.

7 If I had seen it being done, I definitely
8 would have taken measures to correct it at that
9 time.

10 MR. BENTOFF: We are all sure it
11 wasn't done intentionally. Rest assured.

12 THE WITNESS: Thank you.

13 - - -

14 (EXAMINATION CONCLUDED.)

15 - - -

16

17

18

19

20

21

22

23

24

25

CERTIFICATE

State of Ohio,)
) SS:
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, CREIGHTON G. HEYL, D.O., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 9th day of AUGUST, 1989.



Diane M. Stevenson, RPR
Notary Public in and for
The State of Ohio.

My Commission expires October 26, 1990.

Diane M. Stevenson, RPR
Morse, Gantverg & Hodge