

#607¹

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 CHERYL OLA,

4 Plaintiff,

5 -vs-

JUDGE McMONAGLE

CASE NO. 152815

6 MICHAEL MacFEE, M.D., ET AL.,

7 Defendants.

8 - - - -

9 Deposition of TANYA S. HEYMAN, M.D., taken as
10 if upon cross-examination before Linda A.
11 Astuto, a Registered Professional Reporter and
12 Notary Public within and for the State of Ohio,
13 at the offices of Don C. Iler, 1640 Standard
14 Building, Cleveland, Ohio, at 9:30 a.m. on
15 Monday, February 6, 1989, pursuant to notice
16 and/or stipulations of counsel, on behalf of the
17 Plaintiff in this cause.

18 - - - -

19 MEHLER & HAGESTROM, INC.
20 Registered Professional Reporters
21 650 Engineers Building
22 Cleveland, Ohio 44114
23 (216) 621-4984
24 1-800-822-0650
25 FAX (216) 621-0050

APPEARANCES:

Don C. Iler, Esq.
Law Offices of Don C. Iler
1640 Standard Building
Cleveland, Ohio
(216) 696-5700,

On behalf of the Plaintiff;

Gary Goldwasser, Esq.
Reminger & Reminger
7th Floor 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311,

On behalf of the Defendants
University Hospitals and
Tanya Heyman, M.D.

Susan Reinker, Esq.
Jacobson, Maynard, Tuschman & Kalur
1301 East Ninth Street
Fourteenth Floor
Cleveland, Ohio 44114
(216) 621-5400,

On behalf of the Defendants
MacDonald Associates, Inc.
and William MacFee, M.D.

1 TANYA S. HEYMAN, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF TANYA S. HEYMAN, M.D.

8 BY MR. ILER:

9 MR. ILER: Let the record reflect
10 that we are taking the deposition of Dr. Tanya
11 Heyman as on cross-examination in the above
12 entitled case and all the lawyers involved in
13 this piece of litigation have been advised of
14 her deposition and given agreement and consent.
15 We can talk to the doctor this morning.

16 Q. Doctor, may I please have your full name for the
17 record, if you would?

18 A. Sure. Tanya, T A N Y A. S H E R E E. Heyman,
19 H E Y M A N.

20 Q. And what is your home address?

21 A. 2520 Stratford, S T R A T F O R D, Cleveland
22 Heights 44118.

23 Q. And you are married I understand?

24 A. Yes.

25 Q. Your husband's name?

1 A. My husband's name is Thomas Taxman.

2 Q. Is he a physician also?

3 A. Yes, he is.

4 Q. What kind?

5 A. Pediatric gastroenterologist.

6 Q. Where does he work out of?

7 MR. GOLDWASSER: Which hospitals?

8 A. Mount Sinai and Cleveland Metro General.

9 Q. You are a physician?

10 A. Yes.

11 Q. And you have been a physician for how many years
12 now?

13 A. I graduated from Case Western Reserve in 1982.

14 Q. I didn't ask for a curriculum vitae. So I will
15 just run through a few things to get a little
16 bit of your background, if you will.

17 Your undergraduate school was done where?

18 A. Case Western Reserve.

19 Q. You finished that in what year?

20 A. '78.

21 Q. And then did you go right into medical school?

22 A. Yes.

23 Q. And that was at Case Western Reserve?

24 A. Yes.

25 Q. You started in '78 or '79?

1 A. '78.

2 Q. And then you continued -- when did you graduate?

3 A. 1982.

4 Q. And after you finished at Case did you take your
5 one year rotating internship?

6 A. No. I took a year and I did research at
7 University Hospital for six months and then we
8 went to South Africa where I worked at Grute
9 Schur Hospital which is in Capetown.

10 Q. Tell me a little bit about your research for six
11 months. What did you involve yourself with?

12 A. It was in the immunology lab and we looked at
13 stress and recurrent herpes. Well, depression
14 and herpes.

15 Q. The connection?

16 A. Yes.

17 Q. After your work in taking care of patients in
18 South Africa, what kind of work did you do there
19 just generally?

20 A. OB/GYN.

21 Q. Then you returned to Cleveland?

22 A. Right.

23 Q. And decided -- did you take your internship
24 then?

25 A. Right. I did my training as part of a combined

1 program, University Hospital and Cleveland Metro
2 General in OB/GYN.

3 Q. Okay.

4 A. Four year program.

5 Q. That included your one year internship in that
6 program?

7 A. Right. It's a four year program.

8 Q. When did you start your OB/GYN residency?

9 A. 1983.

10 Q. And you finished when?

11 A. 1987.

12 Q. And that completed your specialty in the field
13 of OB/GYN?

14 A. Yes.

15 Q. Did you continue with your education after 1987?

16 A. Well --

17 Q. On a formal basis is what I mean.

18 A. Well, by a fellowship?

19 Q. Whatever.

20 A. Or by what?

21 Q. We know that you have indicated to us that you
22 completed your OB/GYN residency in 1987.

23 Did you go right into the practice of
24 medicine or did you take a fellowship or did you
25 continue with research or what?

1 A. In two sentences I will tell you what I have
2 done. I was hired by University Hospital after
3 my chief residency as a consultant to work on a
4 women's comprehensive health care project and I
5 worked as an administrator in that project for
6 one year's time.

7 Beginning in July of 1988 I did part-time
8 clinical practice doing office gynecology only
9 and then part-time administrative work.

10 Q. At the university?

11 A. Yes.

12 Q. Let's go back so I am just clear on a point.

13 After your residency you were hired by
14 University Hospitals?

15 A. Yes.

16 Q. And that was to conduct a program or a model for
17 women's health care?

18 A. Right.

19 Q. What did that in general terms, what did you do?

20 A. I did, I set up programs, designed what the
21 center would be, developed a mission statement,
22 developed a business plan.

23 Q. Was this for general women's care or specialty
24 of their problems?

25 A. It was comprehensive care. So it would include

1 obstetrics, gynecology and some medicine.

2 Q. Did that for a year?

3 A. We did that for a year. And then by the same
4 organization I was hired to do clinical care in
5 one of the offices that they owned.

6 Q. Okay. Well, then, is it fair or accurate to
7 state that since your residency was completed in
8 '87, you worked for University Hospitals, one
9 year in the setting up of a model program for
10 women and their problems, and then, two, also
11 doing some clinical GYN work for the hospital?

12 A. Right.

13 Q. Have you ever maintained an office of your own
14 to this early point in your career?

15 A. No.

16 Q. Presently what are you doing?

17 A. As of two weeks I am not practicing. This job
18 was discontinued. The program was not funded
19 and so I'm looking for work.

20 Q. You will not have any problems.

21 Insofar as the funding, so I'm just clear
22 for the record, this would be the funding for
23 the women's model that you helped set up for
24 women's care?

25 A. Right.

1 Q. And also the clinical GYN practice that you were
2 doing, I guess that was together, right?

3 A. Let me state that the clinical setting was still
4 available but the job as a whole, as it was
5 described to me, was not available.

6 Q. Did they have a title to this project?

7 A. It is called Women's care.

8 Q. So just recently Women's Care was not able to be
9 funded and so the program ended?

10 A. They have funded parts of Women's Care. My
11 particular preventative medicine part of that
12 was not funded.

13 Q. Are you sending out resumes or looking or just
14 thinking about what you'd like to do?

15 A. I'm actively thinking about what I want to do.

16 Q. You think we have covered your formal education
17 and your residency training and your formal
18 medical education up to this point?

19 A. Yes.

20 Q. During the time -- you've had an opportunity, I
21 assume, to take a look at the medical charts for
22 Cheryl Ola?

23 A. Yes.

24 Q. If during the course of today's discussion,
25 deposition if you find that you would like to

1 look at any of the records at all before you
answer a question, take the time to do it.
Everybody here has charts. Maybe you have too.
4 And so that if you want to study it for a moment
5 or whatever before you give me your answer, take
6 all the time you want.

7 Some of the questions will be obvious but I
8 need them for the record.

9 A. Okay.

10 Q. Can you tell me, either referring to the charts
11 or your own memory, as to when Cheryl Ola first
12 came to University Hospital and what were the
13 reasons, what was her problem?

14 A. I will have to look at the chart.

15 Q. Sure.

16 A. The admission history indicates that she came
17 first at 1/17/86 at 8:27 in the morning.

18 Q. That would be January or was it November?

E9 MR. GOLDWASSER: I think it is 11.

20 A. I'm sorry, it is 11.

21 Q. Then she did come to the University on November
22 the 17th, 1986?

23 A. Right.

24 Q. And I assume that a workup was done of her, some
25 examination was done to find out what the

1 problem was.

2 What is your understanding was the reason
3 for her coming to University?

4 A. She had a diagnosis of carcinoma in situ of the
5 cervix and had been evaluated by Dr. MacFee and
6 she was scheduled to have a total vaginal
7 hysterectomy.

8 Q. All right. And I understand from the operative
9 record that you assisted Dr. MacFee in that
10 surgery?

11 A. That's correct.

12 Q. I understand that the surgery took place the
13 following day -- pardon me, the surgery did take
14 place on November the 17th, did it not, of '86?

15 MR. GOLDWASSER: Look at the
16 operative report.

17 A. 11/17/86 was her date of surgery.

18 Q. And at the time of surgery what year were you in
19 of your residency?

20 A. I was in my fourth year, which would be my last
21 year.

22 Q. Final year. And your residency was to be a
23 specialist, you are a specialist in obstetrics
24 and gynecology?

25 A. Yes.

1 Q. Was it part of your training and requirements to
2 fulfill your residency to assist in surgeries
3 such as the one Dr. MacFee performed and you
4 performed?

5 A. Yes.

6 Q. As a resident were you employed by University
7 Hospitals at the time of Cheryl Ola's
8 hysterectomy?

9 A. Yes.

10 Q. Paid by them?

11 A. Yes.

12 Q. You had no other outside practice except getting
13 through your residency, being paid by
14 University?

15 A. I had no other outside practice.

16 Q. Why is your attendance and your assistance at a
17 vaginal hysterectomy such as Cheryl had required
18 in your residency program, what is the purpose
19 for your being there?

20 MR. GOLDWASSER: You're talking
21 about just in the abstract generally speaking as
22 far as her training program?

23 MR. ILER: Yes.

24 MR. GOLDWASSER: Go ahead.

25 A. Well, I think there are several reasons that a

1 senior resident has to be present. One is the
2 technical part of the surgery, you need more
3 than two hands to carry out a hysterectomy, a
4 vaginal. Minimally you need four hands. That
5 just from a technical perspective.

6 And why is my presence required --

7 Q. Experience thing for you?

8 A. And then additionally, you know, there is a
9 requirement put out by the American Board of
10 Obstetrics and Gynecology that you have
11 assisted, observed so many surgeries throughout
12 your training.

13 Q. All right. During the course of the
14 hysterectomy that was performed on Cheryl Ola on
15 November the 17th of '86, did you and Dr. MacFee
16 in performing the hysterectomy work as a team?

17 A. Yes.

18 Q. Did you also work under his supervision? For an
19 example, did he tell you to do certain things
20 during certain portions of the procedure and
21 then you followed his direction?

22 MS. REINKER: Objection.

23 A. Yes.

24 Q. You will find from time to time during your
25 deposition there will be an objection.

1 Sometimes they get heated. It doesn't with
2 these people. Just relax and do what your
3 lawyer tells you to do. A lot of times the
4 objections are just made to preserve that for
5 the record.

6 A. Okay.

7 Q. I want to skip for a moment, and we will still
8 use the charts unless you have a memory on it.

9 I see on the discharge summary that the
10 principal diagnosis that Cheryl Ola came into
11 the hospital with was carcinoma in situ of the
12 cervix?

13 A. Yes.

14 Q. And then there is an additional diagnosis on the
15 discharge summary which, discharge summary sheet
16 I should say.

17 A. Okay.

18 Q. What?

19 A. Bilateral femoral neuropathy.

20 Q. What is that?

21 A. It is a problem with leg weakness bilaterally,
22 probably secondary to some nerve involvement.

23 Q. When they say bilateral, you mean both legs?

24 A. Yes, right.

25 Q. So when Cheryl left the hospital the doctors

1 examined her, listened to what her problems were
2 after the hysterectomy and then made a
3 conclusion based upon their expertise, I assume,
4 and their testing if it was done, and they said,
5 well, she is leaving University with this
6 bilateral femoral neuropathy?

7 MR. GOLDWASSER: Objection. I'm
8 not so sure that is accurate. You can explain
9 it, Doctor.

10 A. Yes. This sheet is made up by secretaries that
11 go around the wards and they pull from the
12 charts various diagnoses. So what may appear
13 here may be a diagnosis like a urinary tract
14 infection that was actually treated and resolved
15 while the patient was in the hospital.

16 So what appears here doesn't necessarily
17 mean that is what the patient goes home with.

18 Q. In your review of the charts of Cheryl, is it
19 your judgment that is what she went home with?

20 A. That's not clear by the chart.

21 Q. Okay. Would you say that when she left
22 University Hospitals after her hysterectomy she
23 had some femoral nerve problem?

24 A. Yes.

25 Q. Okay. Just for a moment, can you tell us where

1 that femoral nerve comes from and where it runs
2 its course through our bodies and eventually
3 where it ends up?

4 MS. REINKER: Objection.

5 MR. GOLDWASSER: Only testify in
6 your area of expertise. If you can explain it,
7 by all means do it.

8 Q. It is a little anatomy.

9 A. Well, it innervates the muscles in your thigh
10 and it comes from your spine and its course runs
11 through your pelvis and down to your leg.

12 Q. Okay. I understand that it also has several
13 branches. When the femoral comes out of our
14 spinal columns, it goes down through the pelvis,
15 runs down through our thigh, when it gets to our
16 knee it branches off a little, I guess, into a
17 popliteal nerve or so?

18 A. Right.

19 Q. But then it has a large branch which is called
20 the saphenous nerve, am I correct?

21 A. I'd have to say that I don't know the leg
22 anatomy.

23 Q. That's all right. From what you recall in your
24 training, early training as a physician in some
25 of your work, would you say that the femoral

1 nerve is the nerve that innervates or causes to
2 be innervated some muscles of the thigh and of
3 the leg and lower leg?

4 A. Yes.

5 Q. That's fine. Do you know who had made the
6 conclusion in reading the hospital records for
7 Cheryl -- strike that.

8 In reading the hospital records for Cheryl
9 Ola at University Hospital do you know which
10 physician had made the diagnosis of a femoral
11 neuropathy?

12 A. The diagnosis was made by a neurologist.

13 Q. Do you know what his name is?

14 A. I saw it here. No is the answer to that
15 question.

16 Q. It has a large diagram in here and so forth.

17 A. Do you know where that is?

18 Q. I will find it for you in just a moment.

19 MR. ILER: Why don't you mark
20 this. I will help you. I think I have taken
21 some sheets that might be helpful.

22 - - - -

23 (Whereupon, Plaintiffs' Exhibit No. 1A
24 through 1F was marked for purposes of
25 identification.)

Q. I have taken some parts of the hospital record at University Hospital and had them marked as Plaintiff's Exhibit 1 which is the operative report. And page two continues with the operative report, the technique followed and so forth. Third sheet is a little more of the same, operative report. The fourth sheet is a consultation sheet that came out of neurology. It is dated 11/18/86.

That's the one that had a diagram of the foot and may have some information that you might want to review.

The last sheet in this little packet is patient's notes at 11/18/86. The final sheet in this packet, Exhibit 1, you know, has got, I think it is a progress note.

If you find that you need more than what I have given you in Exhibit 1, these six pages, fine. If you find it convenient, you might use that. I think -- no, six pages to it.

MR. ILER: You want to see it?

MS. REINKER: Yes. I would just like to take a look.

MR. ILER: Off the record.

1 - - - -
2 (Thereupon, a discussion was had off
3 the record.)
4 - - - -

5 Q. Doctor, now handing you what has been marked
6 Plaintiff's Exhibit 1A through 1F, look at those
7 records and see if they help you to determine
8 who the neurologist was that made the conclusion
9 of femoral neuropathy?

10 A. The neurology attending note is on page 1F and I
11 can't read his signature.

12 MR. GOLDWASSER: It is not
13 decipherable. I think the copies are of poor
14 quality.

15 MR. ILER: Does anybody know?
16 Susan, do you know?

17 MS. REINKER: No, I don't.

18 A. Maybe the original chart would be helpful.

19 MR. ILER: Gary, do you have the
20 original?

21 MR. GOLDWASSER: I don't have the
22 original. But we could find out for you, Don.
23 I just wonder if we could find it anywhere
24 else.

25 THE WITNESS: I have a feeling it

1 isn't anywhere else.

2 MR. GOLDWASSER: Okay. Very well.
3 You read it recently.

4 THE WITNESS: I just read the whole
5 chart and I didn't see it.

6 MR. GOLDWASSER: We have to look at
7 the original and get the signature for you.

8 Q. Well, then, back to my initial question I think
9 is who was the neurologist who made the
10 diagnosis at University Hospital of femoral
11 neuropathy on Cheryl Ola and I think your answer
12 is you looked at page 1E or 1F?

13 A. 1F.

14 Q. And said it would be there, but you cannot, our
15 copy is not good of that record and you can't
16 tell who the physician was?

17 A. That's right.

18 Q. Do you recall ever having a discussion after the
19 litigation was filed, after this suit was filed
20 with that particular neurologist or neurology
21 resident?

22 A. No.

23 Q. Aside from Mr. Goldwasser and your conferences
24 with him concerning the litigation, have you
25 ever made a written statement or tape recorded

1 statement concerning your participation in
2 Cheryl Ola's vaginal hysterectomy?

3 A. No.

4 Q. Prior to this deposition today, have you ever
5 had a discussion with Dr. MacFee concerning the
6 suit? Have you ever said we both are involved
7 in a piece of litigation here, did you ever have
8 a discussion with him about it?

9 MS. REINKER: Objection.

10 A. No.

11 Q. Can I ask, Doctor, when you received the suit
12 papers, you did get those I assume?

13 A. Yes.

14 Q. You had an opportunity just to look at them,
15 probably didn't mean a lot to you, except that
16 there was suit brought against you.

17 What did you do with the papers then?

18 A. Put them in my file.

19 Q. Did somebody eventually come to you and say we
20 are involved in a piece of litigation here that
21 you are involved in also?

22 A. Yes.

23 Q. Did they ask you to discuss what your care and
24 treatment was of her?

25 A. Well, specifically a paralegal at Reminger &

1 Reminger called me and set up an appointment
2 the deposition.

3 Q. And no discussions with Dr. MacFee about the
4 suit?

5 A. No.

6 Q. And no discussions with any risk managers or
7 anybody at University Hospital aside from the
8 paralegal from Mr. Goldwasser's firm?

9 A. No.

10 Q. All right. Are you familiar with the term
11 femoral neuropathy?

12 A. Yes.

13 Q. During the course of a hysterectomy, a vaginal
14 hysterectomy such as Cheryl had, can an improper
15 position of Cheryl's legs in the stirrups cause
16 a femoral neuropathy?

17 MR. GOLDWASSER: Is it possible for
18 such to happen, is that what you're asking?

19 MR. ILER: Yes.

20 A. Yes.

21 Q. How does that occur in such a situation?

22 A. Well, positioning on an operating table is a
23 very important routine as part of surgery and
24 because everybody's body is different in terms
25 of size and weight, you have to take care with

1 placing any patient on a table for vaginal
2 hysterectomy. And I would assume that is -- how
3 would it happen?

4 Q. Yes.

5 A. Well, I'm not going to make an assumption. I
6 don't know and I don't think it is known exactly
7 how that happens.

8 Q. Well, insofar as your training was concerned
9 before -- let me strike that.

10 Is this the first hysterectomy, vaginal
11 hysterectomy you had done, the one you did with
12 Cheryl Ola?

13 A. No.

14 Q. How many would you say that you participated in
15 and assisted and helped on before Cheryl Ola's?

16 A. I can't even estimate.

17 Q. Five, 10, more?

18 A. More than probably 15.

19 Q. Okay. Then I would assume that you received
20 some training on the placing of a patient, a
21 woman, in the lithotomy position and in stirrups
22 for a hysterectomy?

23 A. Yes.

24 Q. What do you recall of your training in that
25 regard?

1 A. It is real important that you wait until the
2 patient has been put to sleep, that you make
3 sure that her hips are at the proper place on
4 the table so that when you bring the legs up
5 that she is at the end of the table so you
6 actually can place the speculums and carry out
7 the hysterectomy.

8 And then once the patient is under an
9 anesthetic, you bring both knees up together and
10 then it usually takes two people and one person
11 maneuvers each thigh and you engage the foot in
12 the stirrup and then the legs relax out to the
13 side.

14 Q. And that is a technique that you have been
15 trained to do?

16 A. Yes.

17 Q. In this case did you do that, do you remember?

18 A. I don't remember specifically.

19 Q. Generally would you do that, you and the doctor
20 or somebody else?

21 A. It could be myself and the nurse in the room,
22 myself and the medical student, myself and the
23 attending physician.

24 Q. Those are the people who would be involved in
25 putting a patient in position?

1 A. Right.

2 Q. And then of course you want to have the
3 patient's buttocks in a position where the
4 doctor and assistant such as yourself have easy
5 access to the cervix?

6 A. Yes.

7 Q. Well, in the placing of the legs of the woman in
8 that position as you have described to us, if
9 the legs are placed in too high a position, that
10 is placed, the thighs are placed too high and
11 her legs are placed too high and as a result her
12 foot is placed in the stirrup too high, can that
13 result in some compression or pressure on the
14 femoral nerve?

15 A. I don't know specifically.

16 Q. Okay. In your training as a physician, did you
17 come to understand that there were dangers or
18 some precautions rather than dangers, some
19 precautions that should be taken in the
20 placement of the legs?

21 A. Yes.

22 Q. And why is that so? To avoid injury?

23 A. Yes.

24 Q. During your experience as a resident and taking
25 the required number of hysterectomies that you

1 had to do in order to complete your residency,
2 have you ever had the experience of having a
3 woman go through a vaginal hysterectomy and end
4 up with a femoral neuropathy in addition to Mrs.
5 Ola?

6 A. No.

7 Q. Is this the first time it occurred?

8 A. Yes.

9 Q. How many hysterectomies must you perform to
10 complete your residency program?

11 A. There isn't a set number. They look at all the
12 surgeries you have done and they want to make
13 sure that you've had a wide experience so you
14 can go out and practice carefully.

15 Q. Before coming to the deposition today, did you
16 have an opportunity to consult with or look at
17 any of the leading textbooks in your field of
18 specialty to determine how femoral neuropathy
19 occurs?

20 A. Not today.

21 Q. On prior occasion?

22 A. When this happened, when this patient was in the
23 hospital we went to the library and we looked
24 and pulled whatever literature we could find on
25 femoral nerve neuropathies and I think there

1 were maybe two articles.

2 Q. Do you remember what they were or who wrote
3 them?

4 A. No.

5 Q. Do you know where they are?

6 A. No. We would have to do a literature search to
7 repull those.

8 Q. What caused you to do that?

9 A. Well, I work in a teaching institution and I
10 work with, I worked with medical students and it
11 is part of my job to, it was part of my job to
12 make sure that what I couldn't answer, that we
13 would go look up.

14 Q. And that's part of teaching --

15 A. Yes.

16 Q. -- and the knowledge you gain. What did you
17 determine from the articles, do you remember?

18 A. That there was no good answer.

19 Q. Did the article go into, the articles, I guess
20 there were two, did they go into the probable
21 causes of a femoral neuropathy during the course
22 of a woman being in the lithotomy position and
23 going through a vaginal hysterectomy?

24 A. I don't remember. I'd have to pull those and
25 read them again.

1 Q. Do you still have copies of those articles?

2 A. I don't know.

3 Q. If you would not mind, take a look through your
4 materials, see if you do, turn them over to Mr.
5 Goldwasser, your lawyer.

6 MR. GOLDWASSER: If we find them, I
7 will favor you with a copy.

8 MR. ILER: You will favor me with a
9 copy?

10 MR. GOLDWASSER: Is that all
11 right? Send you a copy.

12 Q. Did you come to learn that during the course of
13 the vaginal hysterectomy with the use of
14 instrumentation that you have to use in order to
15 do it, that some of the instruments can cause
16 some pressure on the area of the femoral nerve?

17 A. Did you ask specifically vaginal hysterectomy?

18 Q. Yes.

19 A. Directly on the femoral nerve?

20 Q. Or in the area of the femoral nerve. I know you
21 are not going to put a clamp on the femoral
22 nerve because it is buried too deep in muscle
23 structures or something.

24 But did you ever -- did you get my
25 question?

1 A. If you won't mind.

2 Q. Sure.

3 MR. ILER: Read it back.

4 - - - -

5 (Thereupon, the requested portion of
6 the record was read by the Notary.)

7 - - - -

8 A. Are you asking me in a vaginal hysterectomy can
9 the instruments we use apply direct pressure to
10 the femoral nerve?

11 Q. Direct or indirect pressure. Sure.

12 A. I think it depends on how the instruments are
13 used. I think that's the bottom line.

14 Q. Can we conclude this, and then we will get a
15 little more specific later on based on what you
16 can recall, with the use of instrumentation,
17 specifically in Cheryl Ola's case, were there
18 instruments used that required some pressure to
19 be applied in order to accomplish the
20 hysterectomy, and that also applied some
21 pressure on the femoral nerve?

22 MR. GOLDWASSER: Now there are two
23 questions there. First instrument applying
24 pressure, second question, pressure on the
25 femoral nerve, is that what you want, those two

1 questions?

2 MR. ILER: Right.

3 MR. GOLDWASSER: Answer the first
4 question first. The first question is pressure
5 period. The second relates to femoral nerve.
6 You may answer.

7 A. Okay. We use instruments called retractors
8 during a hysterectomy. Those instruments pull
9 the vaginal wall laterally. So in that sense
10 there is pressure being distributed laterally.
11 You also would retract anterior and posteriorly.

12 Q. Up and down?

13 A. Right. So those instruments are used during a
14 vaginal hysterectomy.

15 Now the second question was did we use
16 those?

17 Q. No. The second question is in the use of the
18 retractors, let's stay with retractors, is there
19 pressure applied necessarily on areas -- strike
20 that.

21 Is there pressure applied in the areas of
22 the femoral nerve by using those retractors as
23 you have described, well, are those retractors
24 in the area of the femoral nerve, just the
25 area?

1 MR. GOLDWASSER: I'm going to allow
2 her to answer. That is an awful general
3 question. What is area. We are never talking
4 about that much area talking about any surgical
5 field.

6 A. The question is a yes/no answer and the answer
7 is the degree. It depends how the retractor is
8 placed, how high it is placed, how low it is
9 placed, how hard someone may be pulling and the
10 specifics of that, I can't answer.

11 Q. Okay. Is the potential then for pressure to be
12 applied by a retractor during the course of a
13 vaginal hysterectomy present?

14 A. Yes.

15 Q. Do you know as a physician that, taking a
16 hypothetical situation, that in the event a
17 retractor was so applied in a position with
18 excessive pressure in the area of the femoral
19 nerve, can that cause a neuropathy to the
20 femoral nerve?

21 MR. GOLDWASSER: Objection. You
22 may answer.

23 A. Yes.

24 Q. I am going to ask you just some basic questions
25 about, there are two kinds of hysterectomies,

1 one is abdominal and one is a vaginal
2 hysterectomy, is that correct?

3 A. Yes.

4 Q. And I think that what was decided here by Dr.
5 MacFee, I don't think you decided on the course
6 of treatment, is that correct?

7 A. That's correct.

8 Q. Dr. MacFee decided a vaginal hysterectomy was
9 the one to perform, am I right?

10 A. Yes.

11 Q. Is there a medical reason for that as opposed to
12 abdominal?

13 MS. REINKER: Objection.

14 Q. Vaginals are generally easier?

15 MR. GOLDWASSER: You may answer
16 that generally speaking.

17 MS. REINKER: Objection to the form
18 of the question.

19 A. There are certain criteria that you use to
20 decide if you are going to need to do abdominal
21 surgery or vaginal surgery and usually the size
22 of the uterus, if there is cancer with -- if you
23 need to do abdominal exploration, it would be
24 obvious you need to do abdominal.

25 Vaginal is if the uterus is small, nice and

4 mobile, if it descends well, it is a much more
2 benign procedure to do it vaginally.

3 Q. I guess you have less blood loss and less
4 complications, I would assume, generally
5 speaking?

6 A. The potential is there.

7 Q. In this case did you have anything to do insofar
8 as your medical care and treatment in arriving
9 at the diagnosis for Cheryl Ola for carcinoma in
10 situ?

11 A. No.

12 Q. I assume whether she did or did not have
13 carcinoma in situ is something that was beyond
14 your treatment and care of this patient?

15 A. That's right.

16 Q. Have you treated patients before with carcinoma
17 in situ?

18 A. Yes.

19 Q. Did -- I understand that Cheryl Ola had a
20 colposcopy performed?

21 A. That's right. Not by us.

22 Q. Different institution I think.

23 A. Actually we would have to review outpatient
24 records. We don't have those.

25 Q. Well, what is carcinoma in situ, do you know?

1 MR. GOLDWASSER: Carcinoma in situ,
2 just generally, you're not talking about the
3 cervix?

4 MR. ILER: No.

5 A. When you talk about cancer of the cervix, there
6 are several terms and we can start with the word
7 dysplasia or abnormal cells and it is a long
8 spectrum. And so the reason that we do Pap
9 tests on women is to pick up abnormalities early
10 on.

11 Q. She had that I think, didn't she?

E2 A. Like I said, I wasn't part of this. So you
13 start with like a mild dysplasia, a moderate
14 dysplasia, severe dysplasia, carcinoma in situ
15 and then you start to, and then you have
16 invasive cancer and then you get into the
17 staging of the cancer, depending on where the
18 cancer has spread. So that is the whole
19 spectrum.

20 In situ is a specific stage where you are
21 talking about an invasion of, I don't want to
22 get too technical --

23 Q. Epithelial cells, first lining?

24 A. Yes.

25 Q. Has it been your understanding based on your

1 training and experience that carcinoma in situ
2 is noninvasive cancer?

3 A. Noninvasive. Yes, meaning that it has not gone
4 beyond that certain depth.

5 Q. Yes. Have you in your experience as a physician
6 and during the course of your expert training as
7 a specialist, did you ever have experience in
8 treating a lady with, a woman with carcinoma in
9 situ and not perform a hysterectomy?

10 A. Oh, boy. I'd have to look over, in terms of my
11 experience, I'd have to look back and see.

12 MR. GOLDWASSER: So you just don't
13 remember.

14 A. I don't remember, thank you.

15 Q. That's fine. I am talking about academic
16 training and what you recall to a point, because
17 if a woman has carcinoma in situ doesn't
18 necessarily mean you have to have a
19 hysterectomy?

20 A. Right.

21 Q. We'll now probably go into the specific areas of
22 the surgery, we will talk about the anesthesia
23 that she received and then we will go into the
24 surgical procedure itself. And we will just
25 please refer to whatever you need to insofar as

1 the surgical notes are concerned and we will
2 just follow a progression through it.

3 If you would, can you tell me, I think that
4 Mrs. Ola, Cheryl received general anesthesia --
5 A. Yes.

6 Q. -- at the time of her vaginal hysterectomy on
7 November the 17th of '87, am I correct in that?

8 A. I believe so. Yes.

9 Q. Is it generally true that before a patient comes
10 to the operating room for such a hysterectomy,
11 that she receive some premedication probably in
12 her room?

13 A. Yes.

14 Q. Do you think that was done for her too

15 A. I don't know.

16 Q. When she arrives in the surgical suite -- what
17 does general anesthesia mean for purposes of the
18 record, what is that?

19 A. The patient is given IV sedation and then an
20 endotracheal tube is placed so that we can
21 maintain respiratory, respirations for the
22 patient. And she is essentially not awake for
23 the procedure.

24 Q. And probably also not able to experience pain
25 once the anesthesia has been established and the

1 anesthesiologist tells the physician you may
2 proceed with your work, she probably could not
3 feel anything, am I about correct?

4 A. Right.

5 Q. I understand from your testimony it is after she
6 is in that position, that is not totally
7 anesthetized, that is when her legs are placed
8 in the stirrups in the lithotomy position?

9 A. Yes.

10 Q. For purposes of the record, when we say that
11 Cheryl Ola was placed in the lithotomy position
12 after she received, was anesthetized, what is
13 the lithotomy position? I think you have
14 explained it once. Would you help us again?

15 A. Sure. It is placing the woman's legs in
16 stirrups with the legs flexed and abducted,
17 meaning that the legs go out to the side.

18 Q. And the patient is flat on her back?

19 A. Right.

20 Q. And I think you mentioned earlier that Cheryl's
21 butt would be close to the end of the table,
22 surgical table so the doctor and you could have
23 access to her?

24 A. That's correct.

25 Q. Then once Cheryl was anesthetized, she could not

1 move on her own, neither her legs or any parts
2 of her body?

3 A. That's right.

4 Q. When Cheryl was placed in the lithotomy
5 position, not only were her legs put up in
6 stirrups, but also the knees were bent slightly,
7 I believe, is that the correct position?

8 A. That's the usual position, yes.

9 Q. And do you recall whether or not Cheryl's feet
10 were placed in stirrups themselves?

1 A. The usual procedure is that you put --

12 MR. GOLDWASSER: Wait a minute.

13 You don't recall Cheryl but the usual procedure
14 is, is that your answer, or do you recall Cheryl
15 specifically?

16 A. I don't recall Cheryl specifically.

17 Q. Take Mr. Goldwasser's suggestion, what usually
18 happens.

19 A. Usually the patient before she is put to sleep
20 has stockings put on and then her legs are
21 inserted into the stirrups.

22 Q. During the course of the vaginal hysterectomy on
23 Cheryl, with Cheryl's legs then raised, knees
24 bent and spread apart, was Dr. MacFee's position
5 and yours between her legs?

I A. Oh, yes. We were between her legs.

2 Q. And were there occasions when you were both
3 between her legs during the course of the
4 hysterectomy?

5 MR. GOLDWASSER: At the same time?

6 Q. At the same time assisting each other.

7 A. Yes.

8 Q. From the look of either the anesthesia record or
9 the nurses' notes or the recovery notes, can you
10 tell me how long Cheryl Ola was in the lithotomy
11 position from beginning to the end of the
12 surgery?

13 A. I will look.

14 Q. Take your time.

15 MR. GOLDWASSER: For the record, we
16 are going to try to answer that for you. All we
17 are doing is we are looking at the same record
18 Mr. Iler has and trying to interpret it.

19 So if we turn out later to be incorrect, so
20 be it. But we will give it our best shot.

21 MR. ILER: I think that is fair
22 enough. That is all we are asking for this
23 purpose is to do the best we can among us.

24 MR. GOLDWASSER: Sure, because we
25 didn't prepare this.

1 MR. ILER: If it proves later not
2 to be so, we will consider that.

3 A. I think the best estimate is what you've given
4 in terms of the cautery plate on and off.

5 MS. REINKER: That is on the
6 operating nurse page.

7 MR. GOLDWASSER: Well, they usually
8 have it under the operating. But maybe she
9 didn't do it this time. Right under that same
10 tab is operating pathology, do you have it
11 there?

12 A. Okay. In the nursing notes --

13 Q. This would be the nursing notes at University,
14 go ahead.

15 A. It says that the electrocautery plate was placed
16 at 1235 and it was off at 1315.

17 Q. What is that cautery plate?

18 A. It grounds the patient and we use it to help
19 control bleeding.

20 Q. Okay. Does that, did you, do you think that
21 jibes with the anesthesia record? Have you had
22 a chance to look at it? If we look at the
23 anesthesia record we find a symbol that she was
24 in lithotomy position, do you see that?

25 A. Yes.

1 Q. Anesthesia time up in the right-hand part of the
2 record, the anesthesia time they have got there
3 is 1120 to 1340. Do you see that okay?

4 A. Yes.

5 Q. Right in this part.

6 A. Yes.

7 Q. And then it has underneath that, the upper
8 right-hand portion of the anesthesia record
9 shows operation time 1145 and it goes to 1320,
10 okay?

11 So we have two ways to help us to determine
12 how long she was in the lithotomy position, do
13 you agree that is about as good as we can get?

14 A. Yes.

15 MR. GOLDWASSER: The record
16 contradicts itself in another place. We don't
17 know which is correct.

18 MR. ILER: Okay.

19 Q. Well, we have an estimate of approximately two
20 hours for the surgery, an hour 45 minutes to two
21 hours for the vaginal hysterectomy, does that
22 sound about right to you?

23 MS. REINKER: Objection.

24 A. We have anesthesia time and we have surgical
25 time. And I'm not sure what you are asking

1 about.

2 Q. Let's do this. Let's go to the anesthesia
3 record. Hang on just for a moment.

4 MR. ILER: Mark this.

5 - - - -

6 (Whereupon, Plaintiff's Exhibit No. 2 was
7 marked for purposes of identification.)

8 - - - -

9 MR. ILER: What I have done, I have
10 just photographed the anesthesia record and
11 marked it 2.

12 Q. Handing you Plaintiff's Exhibit 2, it is a copy
13 of the anesthesia record for Cheryl made up by
14 the anesthesiologist, am I correct?

15 A. Yes.

16 Q. Up in the right-hand corner, these two numbers
17 that we spoke about, there is a category and it
18 is called anesthesia time, and in that we have
19 marked in here --

20 A. 1120 to 1340.

21 Q. Underneath that is the operative time, and what
22 times are reflected there?

23 A. That is usually the time from the first incision
24 to when you've completed.

25 Q. And what time is given there?

1 A. 1145 to 1320.

2 Q. And what would that mean in real time insofar as
3 layman's time?

4 MR. GOLDWASSER: You mean rather
5 than military time?

6 MR. ILER: Yes.

7 MR. GOLDWASSER: It is 1:30.

8 A. To 1:20.

9 Q. That would be about how long?

10 A. That is about an hour and 20 minutes.

11 Q. Okay. Thank you.

12 A. Is that right? No. A little bit more than
13 that. 20 minutes and 15 minutes is 35 minutes.
14 So an hour and 35 minutes.

15 Q. So approximately from the anesthesia record, the
16 surgical time was an hour and 35 minutes?

17 A. Yes.

18 Q. Okay. Would you say, Doctor, that in Cheryl
19 Ola's case, that great care must be used in
20 placing her legs in the lithotomy position and
21 in the stirrups in the proper position?

22 A. Yes.

23 Q. Now after that was done, we will go to the
24 surgery. Insofar as the surgery is concerned,
25 I'm going to the surgical record itself.

1 MR. GOLDWASSER: The typed surgical
2 note?

3 MR. ILER: Yes. The typed surgical
4 note.

5 Q. And I think it is approximately, you're looking
6 at Plaintiff's Exhibit 1 --

7 MR. GOLDWASSER: We have a copy of
8 it.

9 Q. I think it is a little more than two and a half
10 pages.

11 MR. GOLDWASSER: That is the
12 discharge summary, isn't that what you are
13 looking at there? 1B and C.

14 Q. So now we have Cheryl asleep, have her already
15 in stirrups. We are about to begin the
16 surgery.

17 What it talks about is a total vaginal
18 hysterectomy, that means I think removing the
19 entire cervix?

20 A. Uterus.

21 Q. Doctor MacFee is marked as the surgeon and the
22 assistant as yourself?

23 A. Yes.

24 Q. And general endotracheal was given. Let's go
25 into the surgery itself.

1 It says operative note, and I'm talking
2 about page 1B, and here is, it says "the patient
3 was taken to the operating room and after an
4 adequate level of general anesthesia was
5 obtained, the patient was placed in
6 dorsolithotomy position," that is the position
7 you already told us about. "The patient was
8 examined and a normal sized, shaped and
9 consistency of the uterus was found."

10 I am skipping to the line that says "the
11 patient was prepared and draped in the usual
12 sterile fashion and hysterectomy was begun."

13 A. I see it.

14 Q. Usually when the hysterectomy is begun, in this
15 case was it you and Dr. MacFee that were between
16 her legs or was either alone procedurally
17 speaking?

18 A. Dr. MacFee and I were there.

19 Q. It says "a heavy weighted speculum was placed in
20 the posterior vault of the vagina and the cervix
21 was grasped with a single tooth tenaculum."

22 What is a weighted speculum?

23 A. It is an instrument that has a blade and an
24 angled handle with a weight at the bottom which
25 helps retract the posterior wall of the vagina.

1 Q. It is an L-shaped instrument with a weight on
2 one end and when you put the unweighted end in
3 to hold the position, the weight sort of holds
4 it in the position?

5 A. That's correct.

6 Q. And that was placed, according to the operative
7 note, was placed in the posterior vault of the
8 vagina.

9 Where are we speaking about on that?

10 A. Where are we talking?

11 Q. Yes.

12 A. A woman is lying on her back and the posterior
13 wall would be the area between her vagina and
14 her rectum.

15 Q. And the back --

16 A. It is the back wall.

17 Q. Back wall of her. And then it goes on "and the
18 cervix was grasped with a single tooth
19 tenaculum."

20 What is that?

21 A. It is an instrument that has, comes in two
22 varieties, either single tooth or double tooth
23 and it has, it is like a pincher that enables
24 you to grasp the tissue, in this case the
25 anterior cervix, anterior lip of the cervix and

1 to pull it down within view.

2 Q. So this grasping, I will just call it like a
3 grasping forcep, what did you call it, pincher?

4 A. For lack of a better word.

5 Q. It is used to grasp the tip of the cervix and
6 then pull it out so it pulls the cervix out?

7 A. Pull it down.

8 Q. That is to bring Cheryl's cervix into view so
9 you could see the whole thing I assume?

10 A. Yes.

11 Q. At the time that, I'm going back for just a
12 moment, Doctor, to the time when the heavy
13 weighted speculum was placed in the posterior
14 vault of the vagina, if the heavy weighted
15 speculum is not placed properly, can it cause
16 pressure on the femoral nerve?

17 A. No.

18 Q. Then we are moving to the single tooth
19 tenaculum.

20 Is there a procedure that is followed on
21 how that is done so as not to cause any injury
22 or problems with the femoral nerve or is not the
23 femoral nerve involved?

24 A. The femoral nerve is nowhere near the cervix.

25 Q. All right. And the heavy weighted speculum that

1 we talked about earlier, you indicated to us
2 that the unweighted end of it is on the back of
3 Cheryl's rectum, is that where it rests?

4 A. It rests on her vagina and the rectum and the
5 vagina share a brain of tissue.

6 Q. And that is where it was resting?

7 A. Yes.

8 Q. What is below that or on the posterior side,
9 what structures are there?

10 A. Below the vagina?

11 Q. Yes.

12 A. You've got muscles that help keep the vaginal
13 orifice intact.

14 Q. And are those --

15 A. You are asking me what is between the vagina and
16 rectum?

17 Q. Yes.

18 A. Muscle and connective tissue.

19 Q. Are there nerves running through that area?

20 A. Well, you have innervation.

21 Q. Where would that be, what nerves?

22 A. To the perineum, pudendal innervation.

23 Q. Does that come out of L-3 or L-4, do you know?

24 A. Let's see. I think it is L-3 and 4 but I don't
25 know specifically.

1 Q. All right. Let's continue on with the operative
2 report.

3 A. That's pretty good.

4 Q. Let's continue on with the operative report,
5 Doctor.

6 "Epinephrine solution was used," do we
7 have that part right, Doctor?

8 A. Yes.

9 Q. I am continuing.

10 A. I am still thinking about the innervation
11 because I think what I said is not exactly
12 right. I think pudendal is separate from L-3
13 and L-4.

14 Q. How about L-5 and S-1?

15 A. Well, you have different innervation from the
16 upper birth canal, upper birth canal and the
17 pudendum. And none of those are related to the
18 femoral nerve and they are sensory.

19 Q. When it comes to sensory then, to what areas of
20 the body do they sensitize or innervate? The
21 thigh, leg?

22 A. Sensory meaning they send back nerve stimuli
23 from the pudendum or from the lower birth canal
24 to the spinal cord to your brain saying I have
25 pain.

1 Q. It goes no further than that?

2 A. Right.

3 Q. Then we are coming down to the next section,
4 Doctor, where it says, I am going through the
5 epinephrine?

6 A. Yes.

7 Q. And then a little further it says, "next", do
8 you see that part, "a knife was used to
9 circumscribe the cervix".

10 A. Yes.

11 Q. Insofar as we have gone now, up to the position
12 using the knife, were you assisting in this
13 regard? Did you use or help with the weighted
14 speculum, do you recall, is that your usual
15 duties in such a hysterectomy?

16 A. Yes.

17 Q. And do you usually assist in the epinephrine
18 solution being used?

19 A. Yes.

20 Q. And do you also assist in grasping the cervix
21 with the single tooth tenaculum as we did here?

22 A. Yes.

23 Q. I am trying to place you in the story line and
24 see just what you did do. That's why those
25 questions are asked.

1 Next the knife is used, what does that mean
2 for us lay people, what does circumscribe mean?

3 A. You make a circle all around the cervix.

4 Q. You actually cut with the knife?

5 A. Right. It is a very superficial, you delineate
6 around the cervix very superficial and the
7 purpose of it is to be able to push back the
8 tissues so that you push the bladder out of the
9 way and you push the rectum out of the way
10 posteriorly.

11 Q. Sort of isolate the cervix then?

12 A. Yes.

13 Q. As that is performed, are you in the area of any
14 nerves which innervate the thigh, the leg?

15 A. No.

16 Q. Then the next portion I am moving to along the
17 operative report is that it says "next, a four
18 by four was used to push the bladder off the
19 cervix anteriorly and the rectum posteriorly."

20 What does that mean, what is a four by
21 four?

22 A. It is a piece of gauze and you use it because it
23 is thought to be a blunt, less traumatic way to
24 dissect tissue planes.

25 Q. Okay. Once again, is that technique used in

1 Cheryl's case just to get that cervix isolated
2 and away from the other organs?

3 A. Yes.

4 Q. Okay. And is the four by four left in there or
5 is that removed, do you know?

6 A. It is removed.

7 Q. Next we are going down to the sentence which
8 says "following this, the peritoneal cavity was
9 entered posteriorly by securing the peritoneum
10 using an Allis clamp and entering sharply," do
11 you see that?

12 A. Yes.

13 Q. What is an Allis clamp?

14 A.

15 a clamp used to grasp tissue and it is less
16 traumatic. It doesn't actually penetrate
17 tissue.

18 Q. Do the best you can with the art work. It will
19 help us all.

20 A. It is slightly serrated. And then it has
21 another handle on the other side identical to
22 this and the tissue comes between these two
23 pieces. It doesn't have teeth.

24 Q. And how long would the instrument be size wise?
25 Two inches, three inches?

1 A. Oh, no. Whatever this is.

2 Q. Six or seven inches?

3 A. Yes.

4 Q. Then how is it used, how is the Allis clamp used
5 and what is grasped by it?

6 A. Okay. It is grasping the peritoneum.

7 Q. Which is what?

8 A. Which is a layer, a lining, a layer and what it
9 does is it helps identify the anatomy. Once you
30 identify it you want to mark it. So you use an
11 Allis clamp.

12 And then it helps you position the tissues
13 so that you can then do what the next step was,
14 which was to enter through the peritoneum.

15 Q. Once the Allis clamp is used in the way you have
16 described, it says "and entering sharply"?

17 A. That just means that you use a knife or scissors
18 or you go through the tissue.

19 Q. And then the next part of it indicates "a
20 retractor was used and placed, it was then
21 placed posteriorly."

22 Now what is a retractor for our purpose?

23 A. Routinely what is done is once you have entered
24 the peritoneum, you take that heavy weighted
25 speculum and you place it through the small

1 little incision you just made. And now the
2 rectum is out of the way. It is below and
3 underneath this retractor.

4 Q. And then a retractor was placed posteriorly.
5 What is a retractor?

6 A. Retractor is an instrument used to hold tissue
7 out of the way.

8 Q. Okay. And is it like an object that has some
9 teeth but not blunted or sharp ends that hold
10 things back?

11 A. Most retractors don't have teeth because you
12 want to use it very atraumatically.

13 Q. It is an L-shaped elongated instrument used to
14 hold something back?

15 A. Yes.

16 Q. How is it held back? Where does the pressure
17 come from to hold back the area that the
18 retractor is holding back?

19 A. Well, in this case the posterior retractor
20 usually is a heavy weighted retractor. In other
21 words it holds on its own with the help of
22 gravity.

23 Q. Where does it rest upon?

24 A. It is resting on the rectum.

25 Q. And are there any nerves in that area, do you

I know?

2 A. Well, yes, you have nerves in your rectum.

3 Q. And are any of those coming off of L-3, 4 or 5,
4 do you know?

5 A. I don't know.

6 Q. And is then this particular retractor, is that
7 left there until the completion of the surgery?

8 A. Yes.

9 Q. Okay.

10 A. Yes.

11 Q. When you say it is weighted, do you have any
12 idea what the weight is of the retractor? For
13 an example, quarter pound, half pound?

14 A. I have to guess.

15 Q. Are there different retractors for different
16 weights?

17 MR. GOLDWASSER: Are there
18 different weights for different retractors.

19 MR. ILER: That is a better
20 question.

21 A. Yes. And different angles. There are different
22 retractors, sure.

23 Q. Okay. And if this retractor that we are talking
24 about at this particular point in this surgery
25 was inappropriately applied, just assume that,

1 inappropriately applied of the incorrect weight
2 and heavy, could it cause pressure on part of
3 the femoral nerve or its distributions?

4 A. No.

5 Q. The next item, "Allis clamp and entering
6 sharply." We talked about that. Then you have
7 a retractor that was placed posteriorly and the
8 report goes on.

9 The next thing I will talk to you about the
10 report says "next, the uterosacrals were
11 identified bilaterally and a Heaney clamp was
12 used."

13 What are uterosacrals?

14 A. They are ligaments that help support the uterus.

15 Q. And are they in the front of the uterus or in
16 the back?

17 A. In the back.

18 Q. And what was the purpose in the procedure of
19 using an Allis clamp, pardon me, the Heaney
20 clamp, what is it and how is it used?

21 A. Heaney clamp is another clamp that we use in
22 surgery that helps to, once you have identified
23 the tissue you want, it will clamp it so you can
24 incise it and suture it without bleeding or
25 involving tissues that you should not have.

1 Q. Do you know if you were the person or the
2 physician who used the Heaney clamp on Mrs. Ola
3 at this time? Would that be part of your
4 general duties?

5 A. Do I know if I did this stuff or not?

6 Q. Yes.

7 A. No.

8 Q. Is it something that usually you would do as an
9 assistant in the vaginal hysterectomy?

10 A. Sure I could.

11 Q. All right. Now then is the Heaney clamp, did it
12 remain in that position during the entire course
13 of the hysterectomy?

14 A. No.

15 Q. Okay. And it was used, I understand, to move
16 these uterosacral ligaments which support the
17 uterus and move those aside, is that correct?

18 A. The next sentence helps describe kind of
19 specifically what we do.

20 Q. Sure.

21 A. Isolate the tissue, you clamp it and a pedicle
22 is then made, we call it a pedicle.

23 Q. What is that?

24 A. I wish I knew more Latin or Greek. But it is a
25 little stump, a stump of tissue.

1 Q. Where is it made from?

2 A. From what used to be like a band of tissue, you
3 clamp it and then you cut above it and then
4 what's left is the pedicle. Sometimes there
5 will be vessels in there. In this case it was a
6 ligament. So it is the remnant of that ligament
7 that once attached the uterus to the wall.

8 Q. Was it one of the uterosacrals?

9 A. Yes.

10 Q. And then you stated you clamped it and it was
11 sutured?

12 A. Right.

13 Q. Let me stop for just a moment and then we will
14 come back to the point that we left off.

15 What did you understand that -- strike
16 that.

17 What did you come to understand was
18 Cheryl's complaints after the hysterectomy?

19 A. I believe she stated that she had some weakness
20 in her leg.

21 Q. There was a point, am I correct, where she
22 complained after the hysterectomy and before she
23 left University Hospitals that she was having
24 weakness in both legs bilaterally, left and
25 right, am I correct?

1 A. Yes.

2 Q. I understand that the left eventually improved,
3 am I correct in that?

4 A. I have not had follow-up. So I don't know if
5 and to what degree. But you mean in the
6 hospital? Yes.

7 Q. And then I understand she had some loss of
8 feeling, paresthesia, and it went down from her
9 right thigh and went down to her calf, did you
10 understand that to be so?

11 A. Her complaint as it was stated in the notes when
12 I looked at it was that she had numbness and
13 weakness and I didn't read specifically the
14 radiation. I would have to review the neurology
15 note.

16 Q. Why don't you take a look at it. I have it in
17 here.

18 A. What was your question?

19 Q. What were her complaints while she was at
20 University Hospital and after the hysterectomy
21 was performed?

22 A. Okay. The complaints stated to the neurologist,
23 or as he documented, was that "she had
24 difficulty with right hip flexion with straight
25 leg," and I can't read the rest of that

1 A. Yes.

2 Q. What does he say here? I will read it and see
3 if it makes sense to you, see if it coincides
4 with you.

5 MR. GOLDWASSER: You are not
6 reading from the chart? You have some notes
7 there, Don?

8 MR. ILER: That's right.

9 MR. GOLDWASSER: That's fine.
10 Obviously we are just guessing along with you.
11 We will do the best we can.

12 MR. ILER: Okay.

13 MR. GOLDWASSER: It is very tough
14 to read. That is where we need your magnifying
15 glass, Don.

16 MR. ILER: I can get it for you.

17 Q. "Impression", here is what I have, "patient with
18 post-op weakness of iliopsoas, quad and
19 adduction of right leg and sensory loss over the
20 distribution of saphenous N." Okay?

21 A. Yes.

22 Q. In parentheses, "(L3-4)", close parens.
23 "Probably secondary to injury at nerve level of
24 inguinal ligament. However, involvement of
25 iliopsoas suggests a higher involvement through

1 sentence, "and patient noted going to bathroom
2 that her right leg gave out in area of knee.
3 Denies changes in sensation," and I'm not sure
4 what the next word is. "Questionable numbness
5 over knee."

6 Q. And that would be in which leg? The right leg?

7 A. He didn't document it.

8 Q. Okay. And did you notice from the neurologist's
9 report that he gave her a pinprick test? It is
10 down near the bottom where the drawing of the
11 foot is.

12 A. Yes.

13 Q. Are you familiar with that test?

14 A. Yes.

15 Q. What is it supposed to test for?

16 A. It helps determine sensation and to localize if
17 there is a decrease in sensation.

18 Q. What did the neurologist find with the pinprick
19 test?

20 A. He has "medial aspect of right leg in saphenous
21 nerve distribution, that there is a slight
22 decrease" in something, I can't read,
23 "distribution".

24 Q. Okay. And when the neurologist finished his
25 examination, he made an impression, did he not?

1 higher mass. Could be secondary to mass or
2 vascular event, both of which are unlikely in
3 this woman." Then he writes, "diagnosis", I
4 have --

5 MR. GOLDWASSER: I think I lost
6 you. "Suggests a higher involvement of
7 iliopsoas. Suggests a higher involvement"?

8 MR. ILER: Yes.

9 MR. GOLDWASSER: Go ahead. What do
10 you have there after that?

11 Q. "Through higher mass," M A S S, "could be
12 secondary."

13 A. "Higher involvement would be secondary."

14 Q. Okay. "Through higher mass could be
15 secondary."

16 MR. GOLDWASSER: No. That is not
17 what we see here.

18 MR. ILER: Then correct it.

19 A. "Higher involvement could be secondary to a mass
20 compressing on --"

21 MS. REINKER: Or.

22 A. "Or vascular event which --"

23 MR. GOLDWASSER: It is "are
24 both --"

25 A. "Can both, are both unlikely in this woman."

1 Q. Then the --

2 A. Can we go on with that next sentence?

3 Q. Sure.

4 A. Something "patient, person," something "has
5 report she has improved in the last 24 hours."
6 And then I see "bilateral femoral neuropathy
7 secondary to" blank, not blank but I can't read
8 that.

9 Q. Okay.

10 A. Sorry.

11 Q. If there is anything else you want to comment,
12 just say it.

13 MR. GOLDWASSER: We are not going
14 to comment unless there is a question. We are
15 trying to go over this with you.

16 Q. Now, the notes also, on the patient's notes of
17 this lady there is a progress note of 11/18. I
18 think I have that in that little package for
19 you.

20 So the progress notes, the next day after
21 her hysterectomy she has got some kind of hip
22 problem, am I right?

23 A. Not -- oh, here. It states in the notes that
24 "right hip flexion difficulty with straight
25 leg."

1 Q. Okay. That means raising her leg up?

2 MR. GOLDWASSER: Straight leg
3 raises.

4 Q. Okay. That's fine. Now insofar, would you also
5 take a look at the sheet which I have attached
6 to your little Exhibit A there, it says the
7 neurology attending notes, do you see that, on
8 November the 19th?

9 A. Yes.

10 Q. So once again the neurologist, specialist, he is
11 looking at her and he finds that she's -- can
12 you describe what he finds there?

13 A. This is again, I am going to need some help to
14 read it.

15 MR. GOLDWASSER: Do you want us to
16 read this, Don?

17 MR. ILER: Yes. Because my
18 questions will be predicated on that.

19 MR. GOLDWASSER: We are certainly
20 not going to assure you we will read it
21 correctly. But the Doctor will do it if you
22 want.

23 Q. Here is what I come up with, may I just address
24 your attention, look at the note of 11/19/86, it
25 is made at 11:00 a.m. and it is under the

1 neurologist attending note.

2 MR. GOLDWASSER: We have 10:00.

3 Q. Down at the bottom it says "this could be
4 related to surgical procedure. At present she
5 is improving," do you see that?

6 A. Yes.

7 Q. Now is the surgical procedure that this
8 neurologist is referring to the vaginal
9 hysterectomy that you and Dr. MacFee performed?

10 MR. GOLDWASSER: Technically
11 speaking we don't know what the neurologist is
12 referring to. But I think we would acknowledge
13 it as probable.

14 Q. Does it seem likely or not that her surgery of,
15 femoral neuropathy occurred sometime during the
16 vaginal hysterectomy?

17 MS. REINKER: No. Objection.

18 MR. GOLDWASSER: Let's restate the
19 question. You are talking about what the
20 neurologist is interpreting. Now you are asking
21 the Doctor for her independent opinion?

22 MR. ILER: Yes. Read it back.

23

24 (Thereupon, the requested portion of
25 the record was read by the Notary.)

1 - - - -

2 MS. REINKER: Objection.

3 MR. GOLDWASSER: If you have an
4 opinion, you can answer that.

5 A. If we look at the fact that prior to her
6 procedure she had no complaints and then post-op
7 she had a complaint of leg weakness and lack of
8 strength, it needs to be evaluated in terms of
9 did it happen, did something happen during
10 surgery to cause that.

11 Q. And do you agree that something did happen
12 during surgery to cause that?

13 MS. REINKER: Objection.

14 A. I can't be certain of that.

15 Q. Why not?

16 A. I could think of -- there are other things that
17 happen to the patient between like her room and
18 the operating table or the operating table to
19 her bed in terms of transferring the patient.

20 So I can't be certain that it was actually
21 during the procedure that something, that an
22 injury occurred.

23 Q. Let us eliminate hypothetically anything
24 happening to her from the time she left her
25 surgical, her room, you know, until the

1 surgery. Let's just hypothetically eliminate
2 that.

3 Then would you agree her femoral neuropathy
4 occurred during the vaginal hysterectomy?

5 MS. REINKER: Objection.

6 MR. GOLDWASSER: Is that before or
7 after?

8 MR. ILER: Before the surgery.

9 MR. GOLDWASSER: The Doctor is
10 talking about after the surgery.

11 A. So if between the hours that we stated that the
12 surgical procedure took place, if during those
13 hours, and if we eliminate all other time?

14 Q. Yes.

15 A. Did then something happen during that time?

16 Q. Yes. Which would be the time of the
17 hysterectomy.

18 A. It could, sure, yes.

19 Q. Would the answer be yes?

20 MS. REINKER: Objection. She
21 answered it, Don. Her answer is it could.

22 MR. GOLDWASSER: I will stipulate
23 if you remove everything else hypothetically, I
24 am going to state, on behalf of my client, it
25 probably happened at the time you are alluding

1 to.

2 But we don't know if everything else can be
3 eliminated. I will agree to that on the record
4 on behalf of my client.

5 Q. Let's take some of the considerations that you
6 have that may have caused her a problem before
7 the surgery of November the 17th, 1986, which
8 may have caused the femoral neuropathy, what
9 things are you thinking about?

10 A. I mostly would be concerned, you know, what
11 happened between the time that she was, most
12 recently had a physical examination, complete
13 exam. The time from her pre-op evaluation to
14 the time she was put to sleep on the table.

15 Q. And what times would that be? When did the
16 pre-op evaluation take place? You can refer to
17 the record.

18 A. I don't think it was timed.

19 Q. Can you just give me a date of when the pre-op
20 was, evaluation was done on this lady?

21 A. Actually there is a date and a time. This is
22 under the patient history 11/16/86 at 4:30 p.m.

23 Q. And who conducted it?

24 A. It is signed Paul Kunart and co-signed by Dr.
25 MacFee.

1 Q. Is there anything in those notes of the
2 examination preoperatively of Cheryl Ola which
3 indicates to you that she had a femoral
4 neuropathy?

5 A. Nothing.

6 Q. So we can eliminate from your judgment in this
7 case anything in the preoperative history that
8 was taken by the physician and his notes
9 countersigned by Dr. MacFee which would lead us
10 to even assume or believe that there was any
11 kind of an injury, can we eliminate that?

E2 A. Yes. Prior to at this time and this date, there
3 is nothing.

14 Q. Okay. Then are you satisfied yourself, Doctor,
15 that from the pre-op examination to the time the
16 lady, Cheryl got into her room at the hospital,
17 there was no indication she had a femoral
18 neuropathy?

19 A. Well, there is a big time gap because this is
20 4:30 in the afternoon the day before surgery and
21 the patient goes home and then comes in the
22 morning of surgery. So I can't account for that
23 time.

4 Q. Is there anything -- and that would be from the
5 16th until the following day when she came in,

1 right?

2 A. Right.

3 Q. Is there anything that has ever come to your
4 attention as a physician that anything occurred
5 to Cheryl Ola from the time she left the
6 hospital after her preoperative physical
7 examination until the time she came in that
8 indicated she had a femoral neuropathy?

9 A. I didn't specifically question for that. She
10 didn't volunteer any information.

11 Q. Then can we assume insofar as your observations
12 of her and your observations of the medical
13 record, there is no such event from the time she
14 left University Hospital after pre-op exam until
15 the time of surgery which would cause you to
16 believe as a doctor that she had any femoral
17 neuropathy?

18 MS. REINKER: Objection.

19 A. It would be an assumption.

20 Q. What would be the assumption?

21 A. The assumption would be as you stated that
22 nothing happened between that time.

23 Q. How about evidence, do you have any of that?

24 A. Again, I didn't look for evidence.

25 Q. Okay. Did you see her before the hysterectomy?

1 A. I don't remember. I usually met the patient
2 prior to her going to sleep or her having
3 anesthesia.

4 Q. Okay. Did she ever report to you that there was
5 any accident or injury she sustained in the
6 previous day?

7 A. Not that I remember.

8 Q. And was there any indication physically from her
9 that any such thing occurred?

10 A. There is nothing documented and I don't
11 remember.

12 Q. Now we bring her to the surgical table. And can
13 I say this, Doctor, as Cheryl Ola is entering
14 the surgical suite and being anesthetized, given
15 anesthesia, that you have no evidence or facts
16 whatsoever to support an idea that she suffered
17 any injury prior to the time she came to the
18 surgical room that she had an accident or any
19 other problem that caused a femoral neuropathy?

20 A. The beginning of that question was prior to her
21 anesthesia, we had no indication of a femoral
22 neuropathy.

23 Q. Is this true, that a neurologist is a specialist
24 in the field of nerves and the conduction of
25 nerves, people's illnesses?

1 A. Yes.

2 Q. His speciality is a little different than yours
3 of OB/GYN I presume?

4 A. Yes.

5 Q. Do you know why Cheryl was asked to have a
6 consultation with a neurologist at University
7 Hospital the day after her surgery?

8 A. I believe she complained of the first time she
9 got out of bed that she felt weak and the
10 weakness and -- did she complain of numbness?
11 The first note in the chart that indicates that
12 is 11/18/86 where the house officer, Mary Fiske,
13 F I S K E, she wrote in her note addendum,
14 "patient fell this morning."

15 We went over this note before. "Right leg
16 flexion, difficulty with straight leg. Raise,
17 must abduct leg to raise leg. Rule out femoral
18 nerve palsy, neurology called."

19 Q. Then a neurologist looked at her to determine
20 what the cause of that was?

21 A. Right. And that's the note that is part of this
22 collection.

23 Q. Which would be Exhibit 1D. Then shall we leave
24 it to the neurologist, this specialist, to tell
25 us what probably caused this lady's femoral

1 neuropathy because he is a specialist in the
2 field?

3 A. Shall we leave it to him to tell us?

4 MR. GOLDWASSER: I am not, as your
5 counsel, necessarily leaving it up to him to
6 tell us.

7 MR. ILER: I just want her
8 opinion.

9 MR. GOLDWASSER: You want her
10 opinion as to who is in a position to evaluate?

11 A. He is, as a neurologist, much more familiar with
12 diagnosing a nerve problem and it is for that
13 reason that when she made her complaint that he
14 was consulted and, therefore, you know, we
15 ourselves valued his opinion to help us figure
16 out why she was having weakness and numbness.

17 Q. Do you believe his opinion is correct, that it
18 probably occurred or possibly occurred -- strike
19 that -- that possibly her femoral neuropathy
20 occurred during the procedure which was a
21 vaginal hysterectomy?

22 A. Yes.

23 Q. Can we go back to the surgical record again,
24 please.

25 MR. ILER: Off the record.

1 laterally. They are not in the cardinal
2 ligament.

3 Q. In order to get to the cardinal ligament, must
4 you pass areas innervated with nerves L-3, 4 and
5 5?

6 A. It is not like you have to get to them. They
7 are directly lateral to the cervix. So they are
8 right in front of you.

9 Q. And are any of the branches of L-3, 4 and 5
10 nerves in that particular area?

11 A. In the cardinal ligament, no. Not that I know
12 of.

13 Q. And then this ligament was just grasped using
14 the Heaney clamp, you told us about that?

15 A. Yes.

16 Q. And can enough pressure be put on the cardinal
17 ligament as to cause some pressure on any of the
18 nerves that innervate the thigh or her ankle?

19 A. No.

20 Q. And then you sutured the cardinal ligament, is
21 that what happened next?

22 A. Yes.

23 Q. The next point in your procedure was "care was
24 taken to clamp the peritoneum to the
25 peritoneum," is that what it says?

1 - - - -
2 (Thereupon, a discussion was had off
3 the record.)
4 - - - -

5 Q. Doctor, we are now going to return to the
6 surgical record which is a description of what
7 you and Dr. MacFee did. Let me start now with
8 the sentence in the surgical record that says
9 "next, the cardinal ligament was grasped using a
10 Heaney clamp."

11 What is the cardinal ligament?

12 A. It is the ligament which, there are two cardinal
13 ligaments, one on each side of the cervix, and
14 they are lateral ligaments. And again they
15 attach the cervix to the pelvic wall.

16 Q. And are there any nerves running in that area of
17 the cardinal ligaments?

18 A. The nerves are out laterally. The cardinal
19 ligaments, we are more concerned with the ureter
20 and the vasculature that is there.

21 Q. Are there any nerves that run in the area of the
22 cardinal ligament which are innervated out of
23 L-3, 4, 5, do you know?

24 A. In the cardinal ligament there are nerves. In
25 order to get to those nerves, they are out

1 A. Yes.

2 Q. Is that correct?

3 A. Yes.

4 Q. Why did you have to take care? What can happen
5 if you are not careful there?

6 A. Well, you want to make sure that you keep the
7 ureter out of the way there because that, and
8 that you reconnect those tissues and expose the
9 pedicle properly.

10 Q. So what is the danger there?

11 A. Well, you want to make sure you have hemostasis
12 at all times and that you are identifying your
13 anatomy appropriately.

14 Q. Okay. Otherwise what happens?

15 A. Well, you could have post-op complications like,
16 you know, hidden bleeding, hematomas or problems
17 with the urology --

18 Q. Okay.

19 A. -- system.

20 Q. Next you were going through your report, surgery
21 report, it says "care was taken to clamp the
22 peritoneum to peritoneum. Next, the uterines
23 were clamped," what is that?

24 A. The uterine arteries.

25 Q. And where are those located?

1 A. Those are laterally.

2 Q. Okay. From the uterus?

3 A. Right.

4 Q. And are they around any nerves?

5 A. The nerves are way out laterally.

6 Q. When you say way out laterally --

7 A. Meaning if you look at your cervix and the
8 uterus is right above that, you're talking the
9 nerves are running in the side walls of the
10 pelvis.

11 Q. And was any of that area clamped?

12 A. No.

13 Q. And finally this says here, "and then finally
14 the uterus was flipped and a clamp was placed
15 across the utero-ovarian ligament."

16 Where is that?

17 A. This is what would separate, this is so we can
18 leave the woman's ovaries intact. So it
19 separated the ovaries from the uterus.

20 Q. And where is that ligament located, the
21 utero-ovarian ligament? It is attached ovary to
22 what?

23 A. It is a ligament attaching the ovary to the
24 uterus.

25 Q. And --

1 A. This is so we can remove the uterus and leave
2 the ovaries.

3 Q. When you say the clamp was placed, where, on
4 what, what structure?

5 A. On the ligament. As it reads a clamp was placed
6 across the utero-ovarian ligament.

7 Q. Could pressure by that clamp have affected the
8 femoral nerve or any one of its tributaries?

9 A. No.

10 Q. "And the tube bilaterally," I think I read
11 that. Then indicating "a free tie was placed
12 around the lower clamp and tied and then a Heany
13 suture was placed. This was carried out
14 bilaterally and the specimen was removed," that
15 is the uterus, right?

16 A. Right.

17 Q. "All pedicles were examined for bleeding" and
18 then the suturing was done and the hysterectomy
19 was completed, right?

20 A. Yes.

21 Q. From the beginning of the surgery, which we
22 talked about in the operative note where it
23 starts with, "the patient was taken to the
24 operating room," that typed portion --

25 A. Yes.

1 Q. -- to the completion of the report of the
2 operation which ends with saying "and her
3 specimen which included the uterus was taken to
4 pathology," from those points in time, from the
5 beginning of the surgery what clamps remained in
6 Mrs. Ola for the entire procedure?

7 A. No clamps remained inside for the whole
8 procedure.

9 Q. What retractors remained for the whole
10 procedure?

11 A. The retractors used during the procedure are the
12 posterior retractor, the weighted retractor
13 which we talked about. And then we have --

14 Q. Is that the weighted tenaculum?

15 A. No. It was the weighted retractor. And then we
16 use lateral retractors, which are flat blade
17 retractors that are very malleable and you can
18 bend them and place them as needed so that you
19 can see.

20 Q. Okay. So what remained for the entire operative
21 procedure would be the weighted retractor,
22 retractors, is that right?

23 A. Just one.

24 Q. Just one.

25 A. And I'm sorry.

1 Q. That is okay. I didn't want to make a mistake.
2 You have one weighted retractor which was left
3 in Mrs. Ola for the entire period of time?

4 A. You define entire period of time from the time
5 she entered until --

6 Q. No.

7 A. -- until the uterus was delivered.

8 Q. No. From the time it was first used, in other
9 words, from the time the retractor was first
10 used until the time it was removed, during that
11 course of time was that course of time the
12 entire operative procedure?

13 A. Yes. That is a different question. Was it in
14 the entire procedure? Actually that retractor
15 is placed and removed and placed, you know,
16 because visibility is the most important thing
17 and I can't tell you for the entire, for how
18 long it was actually in and how long it was out.

19 Q. Okay. And in your judgment were there any
20 retractors that were left in Mrs. Ola during the
21 period of time of one hour, one hour 15
22 minutes?

23 MR. GOLDWASSER: Any present that
24 long?

25 MR. ILER: Yes.

1 MR. GOLDWASSER: As long as an hour
2 or hour and 15 minutes without being removed?

3 MR. ILER: Yes.

4 A. I would say it is highly unlikely that any
5 retractors were left in for an hour and 20
6 minutes.

7 Q. And what would be the longest time that a
8 retractor would be placed and left in her?

9 A. That is too variable.

10 Q. We don't know. It could be 10 minutes, it could
11 be five minutes?

12 A. Well, yes, or it could be 35 minutes.

13 Q. How about any other clamps, the Allis clamp or
14 the Heany clamps, were any of those left in for
15 an hour?

16 A. No.

17 Q. All right. And then what kept the area of the
18 uterus open so you could work on it? Were they
19 retractors?

20 A. The area of the uterus. You are asking what
21 kept the vagina open?

22 Q. Yes.

23 A. Retractors.

24 Q. And how many were used usually?

25 A. During the whole procedure?

1 Q. Yes.

2 A. Possibly four.

3 Q. Okay. And are those kept holding the vagina
4 open during the time from the beginning of the
5 hysterectomy until its end?

6 A. No. You rotate them around depending on what
7 you need to see and what you need access to.

8 Q. But it is obvious that during the course of the
9 hysterectomy some retractors have to be left in
10 place during the entire procedure, don't they?

11 MS. REINKER: Objection.

12 A. They are constantly being moved is the problem.

13 Q. But they are being moved in different areas as
14 needed?

15 A. During most of the procedure we are using
16 retractors in order to see. The question you
17 are asking is do they stay in one place for the
18 whole hour and a half. The answer to that is
19 that is very unlikely.

20 Q. But for a whole hour and a half they may be
21 moved from one position to the other depending
22 on what has to be visualized by the surgeon?

23 A. Yes.

24 Q. Are those retractors kept, is the vagina kept
25 open by retractors through pressure?

1 A. Or gravity.

2 Q. Okay. In other words if they have weighted
3 ends, then gravity would keep them open?

4 A. Yes.

5 Q. During the procedure, the total hysterectomy
6 procedure that you and Dr. MacFee did, were you
7 both during the course of the entire procedure
8 in between Cheryl Ola's legs working there?

9 A. Yes.

10 Q. Where is the bilateral lumbar plexus?

11 A. Bilateral -- I don't know.

12 Q. Okay. Do you know where the lumbar plexus is?

13 A. No.

14 Q. Did you know where the lumbar plexus was at the
15 time you were helping perform the vaginal
16 hysterectomy?

17 A. I'm sure I probably did.

18 Q. You have forgotten it since that time?

19 A. Right.

20 Q. Doctor, on the last, would you please take a
21 look at Plaintiff's Exhibit 1A and the second
22 paragraph under PE?

23 A. Yes.

24 Q. In the middle of that paragraph that is PE, it
25 says "post-operative course was remarkable."

1 What does remarkable mean?

2 A. That means that it is either, it is usually
3 stated either unremarkable means that things
4 went very smoothly, there were no complications
5 of note. Remarkable means that it went well
6 except for the following.

7 Q. And the following that is written out in this
8 report, Exhibit No. 1, "for some complaints of a
9 weakness in her right leg with difficulty
10 straightening that leg," correct?

11 A. That's right.

12 Q. And then it goes on to say "neurology was
13 consulted and the feeling was --" whose feeling?

14 A. The way that is stated is the neurologist who
15 evaluated her, the consultation.

16 Q. "Feeling was that she probably had a bilateral
17 femoral neuropathy with the right being greater
18 than the left."

19 Do you mean right leg as opposed to left
20 leg?

21 A. Yes.

22 Q. "That this could have been related to the
23 surgical procedure," do you see that part?

24 A. Yes.

25 Q. "And was improving rapidly," okay?

1 A. Yes.

2 Q. Now if the surgery on her bilateral --
3 correction. Strike that.

4 If her surgery for her vaginal hysterectomy
5 was done properly and in accordance with all the
6 correct procedures, then she should normally not
7 end up with a bilateral femoral neuropathy,
8 correct?

9 MS. REINKER: Objection.

10 A. To any surgical procedure there are
11 complications and risks that are inherent in the
12 procedure. And I think this is one.

13 Q. And you're saying that, what leads you to
14 believe that this was a complication of the
15 procedure that you performed with Dr. MacFee?

16 A. The we -- restate.

17 MR. ILER: Read it back.

18 - - - -

19 (Thereupon, the requested portion of
20 the record was read by the Notary.)

21 - - - -

22 A. I believe that was the advice of the neurologist
23 who evaluated the patient and who felt that it
24 could have been a complication from the surgery
25 that I was basing my feeling on.

1 Q. You used the word complication?

2 A. Yes.

3 Q. What does that mean?

4 A. Well, prior to having surgery we always go
5 through a risk benefit discussion with the
6 patient and part of the risks of a procedure are
7 complications that can occur unexpectedly and it
8 is our duty as physicians to make sure that our
9 patients know about those.

10 Q. Do you know -- the neurologist though, he never
11 used the word complication, did he?

12 A. I don't remember reading that.

13 Q. Take a look at it and see if the neurologist
14 ever used the word complication.

15 MR. GOLDWASSER: Well, the record
16 speaks for itself.

17 MR. ILER: I don't think it has.
18 But I want the doctor to be satisfied on that
19 point.

20 A. No. I see his note here and I believe we read
21 through it before.

22 Q. And he did not use the word, the neurologist did
23 not use the word complication?

24 A. No.

25 Q. That was your word?

1 A. Yes.

2 Q. The neurologist is implying to us, is he not,
3 that something was done during the course of the
4 vaginal hysterectomy which should not have been
5 done?

6 MS. REINKER: Objection.

7 MR. GOLDWASSER: Excuse me. You
8 are not to answer that. She can't answer for
9 the neurologist. All we know is what the
10 neurologist states in his record. If you want
11 to find out, take his deposition. She is not
12 answering your question. If you want to pose
13 another one, you may.

14 MR. ILER: You are instructing her
15 not to answer?

16 MR. GOLDWASSER: Of course. She
17 can't answer for the neurologist.

18 MR. ILER: Read it back.

19 - - - -

20 (Thereupon, the requested portion of
21 the record was read by the Notary.)

22 - - - -

23 MR. GOLDWASSER: She is instructed
24 not to answer that question.

25 Q. Do you as a physician in OB/GYN believe that it

1 is reasonable to interpret as an OB/GYN that
2 what the neurologist has written out here is
3 something occurred during Cheryl Ola's vaginal
4 hysterectomy?

5 MR. GOLDWASSER: Objection.

6 MS. REINKER: Objection.

7 A. To answer the question the neurology attending
8 note, and I quote from his note, "this could be
9 related to the surgical procedure." And --

10 MR. GOLDWASSER: Why don't you ask
11 her if she has any reason to disagree with
12 that.

13 Q. Do you?

14 A. No, I don't.

15 Q. The neurologist gave no other cause for Cheryl's
16 femoral neuropathy except that it could have
17 been related to the surgical procedure, am I
18 correct?

19 A. In his note he didn't document a differential
20 diagnosis.

21 Q. Well, the point is it seems to be the only
22 reason he gives, the neurologist gives in this
23 report for the femoral neuropathy, true?

24 A. Let me just, again, I'm going to say he didn't
25 give a differential. He just gave one

1 possibility and that is what is listed on the
2 chart.

3 Q. And he gave no other possibilities?

4 A. No.

5 Q. Okay. Now you indicated to us that you
6 generally advise the patient of some risks that
7 are involved?

8 A. Yes.

9 Q. Do you have the consent form here for Cheryl Ola
10 and her hysterectomy?

11 A. Yes.

12 Q. Would you take a look at it, please? Let me see
13 if I can get mine.

14 A. Here. It is on page 83.

15 Q. These are not numbered, Doctor.

16 MR. ILER: Mark that.

17 - - - -

18 (Whereupon, Plaintiff's Exhibit No. 3 was
19 marked for purposes of identification.)

20 - - - -

21 Q. Take a look at the consent form which I have
22 marked as Plaintiff's Exhibit 3 and did you read
23 this consent form to Cheryl Ola?

24 A. No.

25 Q. Okay. Have you read the consent form?

1 A. This is our standard hospital consent form.

2 Q. Okay. And do you think as a physician that a
3 woman looking at this form would come to know
4 she could end up with a femoral neuropathy by
5 going in for a vaginal hysterectomy?

6 MS. REINKER: Objection.

7 MR. GOLDWASSER: You are instructed
8 not to answer that question. She can't know the
9 mind of the patient. All she can know is what
10 is communicated to the patient.

11 She doesn't remember this patient. She
12 didn't tell her as to the consent and she didn't
13 give it.

14 Q. Look at this consent form, Doctor, and was it
15 designed to advise Cheryl Ola and other women
16 that if they go and have a vaginal hysterectomy,
17 she could end up with a femoral neuropathy?

18 A. This is for the record, this authorization for
19 medical procedure form is written with several
20 blanks in it to be filled in by the physician
21 obtaining the permission.

22 And so in answer to your question, would
23 someone know by reading this form that there is
24 a risk such as a femoral nerve palsy secondary
25 to a vaginal hysterectomy, the answer is no.

1 Q. Doctor, insofar as the procedure which we have
2 discussed here, the surgical procedure on Cheryl
3 Ola, at any of the steps in the procedure which
4 we have discussed, if improper technique was
5 used in any one of them, from the beginning to
6 the end of the vaginal hysterectomy, could a
7 femoral neurological problem such as she had
8 result?

9 MR. GOLDWASSER: Objection. You
10 may answer.

11 MS. REINKER: Objection.

12 MR. GOLDWASSER: He is asking you a
13 possibility.

14 A. I hear the question. Yes, if improper technique
15 is used, sure you can get palsy.

16 Q. The kind that Cheryl had is what I'm interested
17 in.

18 A. Yes. It is very unlikely in a vaginal
19 hysterectomy. They are more common in other
20 procedures. Yes, it is a possibility.

21 Q. How could that occur?

22 MS. REINKER: Objection.

23 A. I don't know. Specifically I think positioning
24 on the table is the most likely cause.

25 Q. The other causes, that is the use of clamps,

1 retractors, moving the retractors as needed
2 during the surgical procedure, can they also, if
3 improperly done, that is use of retractors and
4 clamps, can that also result in a femoral
5 neurological problem?

6 MR. GOLDWASSER: Objection. You
7 may answer that question.

8 A. They could.

9 Q. Okay. In the preparation -- strike that.

10 After it came to your attention that Cheryl
11 had a problem with femoral neuropathy that we
12 talked about up until the present time, have you
13 done any research into the area that eliminates
14 the improper, an improper position of Cheryl in
15 the lithotomy position as being a cause of her
16 femoral neuropathy?

17 MR. GOLDWASSER: Any research
18 beyond what she already told you about that was
19 done?

20 MR. ILER: Yes.

21 MR. GOLDWASSER: You may answer.

22 A. No.

23 Q. Have you been able to eliminate, Doctor, from
24 your thinking the improper position of Cheryl
25 Ola on the table in the lithotomy position as

1 being the cause of Cheryl's femoral neuropathy?

2 A. No.

3 Q. Have you been able to eliminate from your
4 medical thinking, Doctor, the improper use of
5 any clamps or retractors or other
6 instrumentation during the course of her vaginal
7 hysterectomy as being the cause of her femoral
8 neuropathy?

9 A. I can't totally eliminate that. I can only say
10 it is highly improbable. We used the standard,
11 the standard equipment, the standard
12 instruments.

13 Q. Okay. Highly improbable in your judgment but
14 possible?

15 MR. GOLDWASSER: Anything is
16 possible. I will object to that. You may
17 answer as to possibility.

18 A. Sure.

19 MR. ILER: May I have just a
20 moment. Off the record.

21 - - - -

22 (Thereupon, a discussion was had off
23 the record.)

24 - - - -

25 MR. ILER: I can go back for a

1 minute here.

2 Q. I think, Doctor, for the matter of the record,
3 when Cheryl came to the hospital for her vaginal
4 hysterectomy, she had no difficulty with either
5 her right or left leg, am I correct?

6 MS. REINKER: Objection.

7 A. According to her history and physical on
8 admission on the 16th, she didn't.

9 Q And do you think that in doing the vaginal
10 hysterectomy that -- strike that.

11 Remember you mentioned earlier that there
12 were some risks involved with this abdominal
13 hysterectomy. We talked about it.

14 Is it your belief that sometimes you can
15 end up with a femoral neuropathy during a
16 vaginal hysterectomy?

17 A. You mean how many documented cases there are in
18 the literature?

19 Q. No. Do you believe that it can happen?

20 A. Yes.

21 Q. And why?

22 A. I said this before, I don't think we know why
23 and I think that positioning on the table would
24 be the most likely, in my mind.

25 Q. And in the positioning on the table, how would

1 that affect the femoral nerve?

2 A. Well, positioning on the table involves several
3 things. It involves how low the patient is on
4 the table, her weight and her weight
5 distribution, if she, you know, has a big
6 pancreas, if the weight is more in her thighs
7 and how gravity would affect her thighs
8 abducting, how high, you know, her legs are.

9 Q. Would those things put --

10 A. Pressure on the nerve.

11 Q. On the femoral nerve, is that right?

12 A. Some, yes.

13 MR. ILER: I don't have to do this
14 on the record, some of the copies are really
15 poor, that one record, would you get me -- off
16 the record.

17 - - - -

18 (Thereupon, a discussion was had off
19 the record.)

20 - - - -

21 MS. REINKER: I have a few
22 questions.

23 MR. ILER: Let me make an objection
24 here. I assume, Susan, you're going to ask some
25 questions of the doctor concerning this case

1 obviously but I just want to make a note of an
2 objection for the record that a co-defendant
3 cannot cross-examine another defendant in the
4 case. I will just make my note for the record
5 on that. You can go ahead so I don't have to
6 interrupt you.

7 MS. REINKER: Are you objecting to
8 my right to ask any questions whatsoever?

9 MR. GOLDWASSER: Let's go to it. I
10 have to go. He has made his objection.

11 - - - -

12 CROSS-EXAMINATION OF TANYA S. HEYMAN, M.D.

13 BY MS. REINKER:

14 Q. We met earlier and I represent Dr. MacFee in
15 this lawsuit.

16 You indicated earlier that you read a
17 couple of articles while Mrs. Ola was in the
18 hospital concerning femoral neuropathy, correct?

19 A. Yes.

20 Q. And that those, from those articles you learned
21 that really nobody has an explanation as to why
22 femoral neuropathies occur, correct?

23 MR. ILER: Note my objection.

24 A. I think I stated that what I remember was that
25 there was not a really good reason and that I

1 needed to review those for specifics.

2 Q. So your opinions that femoral neuropathies can
3 occur from positioning, that is really
4 speculation?

5 MR. ILER: Objection.

6 A. Yes.

7 Q. Your opinion that femoral neuropathies can
8 possibly occur from instrumentation, that is
9 another speculation?

10 MR. ILER: Objection.

11 A. Yes.

12 Q. You are aware or learned that a femoral
13 neuropathy can occur when even nobody did
14 anything wrong, correct?

15 MR. ILER: Note my objection. Go
16 ahead. When nobody do anything wrong?

17 MS. REINKER: Correct.

18 A. In other words, no problem with the surgery?

19 Q. Correct.

20 A. Yes.

21 Q. And that just because a femoral neuropathy
22 occurred does not mean that somebody either
23 positioned the patient wrong or used improper
24 instrumentation?

25 A. Yes.

a MR. ILER: Note my objection.

2 Q. That's correct?

3 A. Yes.

4 Q. There can be other causes of femoral
5 neuropathies which have nothing to do with what
6 the surgeons or other physicians in the room
7 did?

8 A. Yes.

9 Q. Did you happen to talk to Mrs. Ola before her
10 surgery?

11 A. I don't remember that.

12 Q. Do you know the name of the physician who
13 witnessed her signature on the consent form?

14 A. Yes. That is Steven Weight, W E I G H T.

15 Q. Do you happen to know where he is at the present
16 time?

17 A. He is in Cleveland.

18 Q. Is he still doing a residency?

19 A. No. He is at Metro General, I believe. I think
20 he is there at Booth Hospital.

21 Q. Has he completed his training?

22 A. Yes.

23 Q. The decision to do a hysterectomy for a patient
24 with carcinoma in situ, that depends to a great
25 extent on the patient's choice, correct?

1 MR. ILER: Objection to that.

2 A. The patient's choice? I think to answer that,
3 it depends on the alternatives given to the
4 patient.

5 Q. If the patient were given alternatives, they
6 would play a role in deciding which approach
7 would be used, correct?

8 A. Again that depends on the physician.

9 Q. Well, is it fair to say that the patient has
10 some input into how her carcinoma in situ is
11 going to be managed?

12 A. In all cases or -- I would want to believe that
13 physicians give their patients the opportunity
14 to interact and help make decisions. And in
15 this case being carcinoma in situ, that's
16 usually what happens.

17 Q. Did Mrs. Ola ever talk to you about the decision
18 to have a hysterectomy as opposed --

19 A. No.

20 Q. Do you recall any specific conversations with
21 Mrs. Ola at any point in time in her
22 hospitalization?

23 A. No.

24 Q. Do you remember the patient at all?

25 A. No.

1 Q. Do you have any direct recollection of this
2 particular surgery?

3 MR. GOLDWASSER: Independent
4 recollection?

5 A. Independent what?

6 MR. GOLDWASSER: Independent of the
7 chart, do you recollect this surgery?

8 A. No.

9 Q. Going through your chart does it refresh your
10 memory and allow you to recall this particular
11 case?

12 A. Pieces of it.

13 Q. Do you have any recollection of at what point in
14 time you first met Mrs. Ola in the operating
15 room?

16 A. No.

17 Q. Were you there when she was brought into the
18 room?

19 A. I don't remember.

20 Q. Do you recall at what point you did enter the
21 room?

22 A. No.

23 Q. Do you recall specifically at what point Dr.
24 MacFee entered the room?

25 A. No.

1 Q. Do you have a recollection of whether he came in
2 the room after you were there?

3 A. No.

4 Q. At what point in time is it customary as far as
5 Dr. MacFee's practice goes for him to enter the
6 room at the beginning of the case?

7 MR. ILER: You mean enter --

8 Q. Enter the actual operating room.

9 MR. ILER: Okay. Go ahead.

10 A. Standard is that the patient is called for, the
11 residents are usually notified, the patient is
12 brought to the room and then Dr. MacFee and I or
13 the resident meet at the room and we would
14 either talk, you know, about this particular
15 patient or whatever work had to be done.

16 If I had not met the patient before, I
17 tried to introduce myself before she went to
18 sleep.

19 Q. This is while the patient is still awake?

20 A. Yes.

21 Q. And then would you leave the room or Dr. MacFee
22 would leave the room to scrub?

23 A. Yes.

24 Q. And then are certain things usually accomplished
25 before you re-enter the room?

1 A. Well, anesthesia continues, nursing staff
2 continues. So yes, things are done while we are
3 scrubbing.

4 Q. At what point in time would the patient be posed
5 in the lithotomy position?

6 MR. ILER: In this case?

7 MS. REINKER: In general.

8 A. Usually after the patient is asleep anesthesia
9 gives the sign you may now put the patient up.

10 Q. Now I noticed in the operative note that this
11 patient had an examination after she was under
12 general.

13 A. Yes.

14 Q. And that was done before she was put in
15 lithotomy?

16 A. No.

17 Q. That was after she was in?

18 A. Yes.

19 Q. Was that examination done by you or Dr. MacFee,
20 do you remember?

21 A. It was probably both of us.

22 Q. Would that have been done after you were
23 scrubbed or before?

24 A. Before.

25 Q. Do you have any recollection in this case of

1 specifically who positioned this patient on the
2 table?

3 A. No.

4 Q. At what point in time would the patient be
5 catheterized if it is going to be done in the
6 operating room?

7 A. Usually it is part of prepping the patient.

8 Q. At what point in time would she be catheterized?

9 A. After she is put in dorsi lithotomy position.

10 Q. Now if you would look at a document that we were
11 looking at earlier, Mr. Iler asked you to look
12 at the anesthesia record to determine the length
13 of time she was in the anesthesia?

14 A. Yes.

15 Q. If you would look at the operative nurses'
16 notes.

17 A. I borrowed yours last time.

18 Q. Okay. Now from that --

19 MR. ILER: Hang on just a second.
20 I am trying to locate it. I can read over her
21 shoulder.

22 Q. According to that document, what time did the
23 patient arrive in the operating room?

24 A. The patient entered room at 11:40 a.m.

25 Q. And according to that document, what time was

1 the electrocautery plate applied?

2 A. Electrocautery time on 12:05.

3 Q. Now how does the application of the cautery
4 plate relate to placing the patient in a
5 lithotomy position?

6 A. It is part of prepping the patient and it is
7 done before draping. So we are really talking
8 about matters of like minutes.

9 Q. Generally is the plate applied before the
10 patient is put in lithotomy?

11 A. It is usually right before and then, you know,
12 the legs are put up. I mean it is literally put
a3 on there, the legs are put up.

14 Q. If the time of 12:05 is correct on that
15 document, would that indicate to you that the
16 patient was first put into lithotomy at roughly
17 12:05?

18 A. Yes.

19 Q. According to that document, when was the plate
20 taken off?

21 A. 1315.

22 Q. Which is 1:15, correct?

23 A. Yes.

24 Q. How does the removal of the plate relate to
25 taking the patient out of lithotomy position?

1 A. It is fairly well in terms of correlation.

2 Q. So again it is roughly at the same time?

3 A. Yes.

4 Q. So if that document is correct, when would the
5 patient have been taken out of lithotomy
6 position?

7 A. Approximately 1:15 or 1315.

8 Q. If that document is correct then, for what
9 period of time was Mrs. Ola in lithotomy
10 position?

11 A. It looks like an hour and 10 minutes.

12 Q. As between that document and the anesthesia
13 record, do you have an opinion which is more
14 accurate or most likely accurate?

15 A. I can't give an opinion, no.

16 Q. Knowing the time usually spent in a vaginal
17 hysterectomy, do you have any opinion as to
18 whether it would be closer to one hour and 10
19 minutes or one hour and 35 minutes?

20 MR. ILER: Note an objection. That
21 is speculation.

22 MS. REINKER: I am just asking if
23 she has any opinion.

24 A. It widely varies.

25 Q. This document shows the patient leaving the

1 operating room at what time? I think it is on
2 the next page.

3 A. 1325.

4 Q. Which is 1:25?

5 A. Yes.

6 Q. And do we know from the recovery room record
7 when the patient arrived in the recovery room?

8 A. The first note is 1325 or 1:25, received from
9 OR.

10 Q. So that note would roughly jibe with the nurses'
11 notes as far as when the patient left the room,
12 correct?

13 A. Yes. She had to fly there.

14 Q. Doctor, when you are doing a surgery with an
15 attending, specifically vaginal hysterectomy,
16 are both of you seated between the patient's
17 legs or --

18 A. I have done it both ways, with one person
19 sitting, one person standing. It is hard to do
20 with both sitting but I have done it with both
21 sitting.

22 Q. In some, when you are in your fourth year of
23 residency, are there some of the cases that you
24 do with the attending essentially observing and
25 then somewhere you assist the attending?

1 A. Restate that.

2 Q. You were a fourth year resident at the time of
3 this case, correct?

4 A. Right.

5 Q. By the time you get to your fourth year of
6 residency are there some cases where you
7 primary operating surgeon with the attend
8 assisting and some the other way around,
9 attending being the primary surgeon and you
10 the assistant?

11 A. Yes.

12 Q Do you recall in this case who was the primary
13 operating surgeon and who was the assistant?

14 A. I don't recall in this case.

15 Q It could have been either way?

16 A Yes.

17 Q Doctor, I would like you to look -- going back
18 just a moment. You identified certain people
19 who might help you with positioning the patient,
20 do you remember that earlier?

21 A. Yes.

22 Q. Anyone else you can think of who could position
23 the patient in the operating suite into the
24 lithotomy position?

25 MR. ILER: In this case or

1 generally?

2 MS. REINKER: In general.

3 A. No.

4 Q. Nurses, med students or the attending.

5 A. I even have had the anesthesiologist help me on
6 occasion, especially, I was just going to say,
7 you know, it depends a lot on the weight of the
8 patient, et cetera.

9 Q. In general though are you one of the two people
10 doing the positioning?

11 A. I have had in general, I would say, I would say
12 often I am the person involved.

13 Q. Now I would like to direct your attention to the
14 discharge summary.

15 A. Yes.

16 Q. You dictated the discharge summary, correct?

17 A. Yes.

18 Q. And when you dictated this discharge summary it
19 was based on some sort of review of the chart?

20 A. Yes.

21 Q. Your last sentence, "the recommendation was for
22 physical therapy and to follow-up with EMG if
23 she did not have rapid improvement. However,
24 the patient regained full strength and was
25 discharged on post-op day four in good

1 condition."

2 A. Yes.

3 Q. I gather from that the plan was to do an EMG if
4 this patient did not show significant
5 improvement?

6 A. Yes.

7 Q. But she did in fact show significant
8 improvement, so the EMG was not deemed
9 necessary?

10 A. That is hard to interpret what I dictated.

11 Q. And in fact based on your statement here, by the
12 time Mrs. Ola was discharged, she had regained
13 full strength?

14 A. That's what I have dictated here.

15 Q. Do you recall any post-operative conversations
16 with Mrs. Ola?

17 A. I'm sorry, no.

18 Q. Do you remember ever discussing with her the
19 problem she was having with her leg or legs?

20 A. No.

21 MS. REINKER: Okay. I have no
22 other questions.

23 MR. ILER: I have just a few.

24 - - - -

25

RECROSS-EXAMINATION OF TANYA S. HEYMAN, M.D.

BY MR. ILER:

Q. Miss Ola was sent to physical therapy, correct?

A. Yes.

Q. Could you get those notes in front of you?

A. Yes. I am just trying to remember where they were. Consultations maybe? What do they look like?

Q. Here is a request for physical therapy, it looks like that near the end of the chart. And then you have got the attendance record, hang on a minute. I have to find it for you.

MR. GOLDWASSER: It is this page and the next page.

Q. Those are the only two records we have for physical therapy, the request for physical therapy, am I right, Doctor?

MR. GOLDWASSER: The chart speaks for itself. She doesn't know other than looking through the chart. If that is what it has, it has it.

Q. I don't see any other notes from physical therapy except those two sheets.

MR. GOLDWASSER: That is all we

1 have.

2 Q. Why was she sent to physical therapy, do you
3 know, Doctor?

4 A. I believe that was one of the recommendations
5 made by neurology.

6 Q. Neurology did?

7 A. Yes.

8 Q. And did you happen to see -- strike that.

9 Did you happen to read Cheryl Ola's
10 deposition?

11 A. No.

12 Q. Do you know what her complaints are presently?

13 A. No.

14 MR. ILER: Okay. I have no other
15 questions of the doctor.

16 MR. GOLDWASSER: Okay.

17 MR. ILER: Ask for a waiver of
18 signature.

19 MR. GOLDWASSER: Don, we are going
20 to not waive signature. As you know, that is my
21 practice.

22 Are you going to have this typed or are you
23 going to wait before you order it? You know I
24 would like --

25 MR. ILER. Well, I think -- we can

I go off.

2

3

TANYA S. HEYMAN, M.D.

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio,)
SS: County of Cuyahoga.)

I, Linda A. Astuto, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named TANYA S. HEYMAN, M.D., was by me, before the giving of her deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Linda A. Astuto, Notary Public, State of Ohio
650 Engineers Building, Cleveland, Ohio 44114
My commission expires October 24, 1992

UNIVERSITY HOSPITALS OF CLEVELAND

NAME	OLA, CHERYL E.	DATE OF ADMISSION	11/17/86
HOSPITAL NUMBER	1-381-887	AGE	RACE
SEX	Female	DIVISION	HH2
SERVICE	GYN	DAYS IN HOSPITAL	5
ATTENDING PHYSICIAN	Dr. MacFee	DATE OF DISCHARGE	11/21/86
RESULT			

C.C. & H.P.I.: This patient was a 32 year old Gravida 2, Para 1 0-1-1 who was admitted for total vaginal hysterectomy. Five years prior the patient was evaluated by her family physician, Dr. Lee at St. Alexis Hospital who noticed an abnormal Pap. test. Patient states that she was being followed every six months by Dr. Lee for followup Paps., but did not keep her appointments. During the last six months she noticed some abnormal bleeding and she reported this to her family physician. Routine Pap. test was done and cone biopsy dilatation and curettage was also done at this time. The results of which showed carcinoma in situ at the cervix. Patient was then sent to Dr. MacFee for a second opinion and the decision to perform a vaginal hysterectomy was made. Past medical history significant for a male infant delivered in 1977 and two subsequent dilatation and curettages in 1981 and 1986. Patient denies any allergies.

P.E.: Significant for normal vital signa. General physical examination was normal. Uterus was noted to be normal size, anteverted, anteflexed. Adnexae normal. Uterus was mobile with good descent. Patient was then prepared and taken to the operating room on 11/17. She had a vaginal hysterectomy that went without complications. Estimated blood loss during the procedure was 60 ccs. Postoperative course was remarkable for some complaints of a weakness in her right leg with difficulty straightening that leg. Neurology was consulted and the feeling was that she probably had a bilateral femoral neuropathy with the right being greater than the left, that this could have been related to the surgical procedure and was improving rapidly. The recommendation was for physical therapy and to followup with EMG if she did not have rapid improvement, however the patient regained full strength and was discharged on postoperative day #4 in good condition.

Postoperative diagnosis was carcinoma in situ, cervix.

Principal Diagnosis: Carcinoma in situ, cervix.

Final Diagnosis:

Dr. T. Heyman

12/12/86


MRC#30

12/15/86

cc: Dr. MacFee

cc: Dr. T. Heyman

cc: Dr. Lee, St. Alexis Hospital



7/18 12/18

PATIENT INSTRUCTIONS

ACTIVITY:	MEDICATIONS	
DIET:	FOLLOW-UP	
SIGNATURE OF RESIDENT:	SIGNATURE OF ATTENDING PHYSICIAN:	ATTENDING PRINTED NAME

UNIVERSITY HOSPITALS OF CLEVELAND

NAME

OLA, CHERYL

HOSPITAL NO.

1-381-887

AGE

DATE OF SURGERY

11/17/86

ROOM NO.

HH2

SERVICE

Gynecology

PREOPERATIVE DIAGNOSIS

Carcinoma in situ of cervix,

POSTOPERATIVE DIAGNOSIS

Same.

OPERATION

Total vaginal hysterectomy,

SURGEON

Dr. M. MacFee

ASSISTANT SURGEON

Dr. T. Heyman

ANESTHESIA

General endotracheal.

Estimated blood loss, 60 ccs. Complications, none. Specimens sent to pathology included uterus.

OPERATIVE NOTE:

The patient was taken to the Operating Room and after an adequate level of general anesthesia was obtained, the patient was placed in the dorsolithotomy position. The patient was examined and a normal sized, shaped and consistency uterus was found, the uterus was anteverted, anteflexed with good mobility, There were no adnexal masses and good descent, The patient was prepared and draped in the usual sterile fashion and hysterectomy was begun. A heavy weighted speculum was placed in the posterior vault of the vagina and the cervix was grasped with a single tooth tenaculum. Epinephrine solution was used to infiltrate around the cervix. This was done in a circumferential fashion and approximately 15 ccs. was used, Next, a knife was used to circumscribe the cervix, This was done with minimal bleeding, Next, a four by four was used to push the bladder off the cervix anteriorly and the rectum posteriorly, This was done with much care and hemostasis was maintained. Following this, the peritoneal cavity was entered posteriorly by securing the peritoneum using an Allis clamp and entering sharply, A retractor was then placed posteriorly. The same was carried out anteriorly with care, Next, the uterosacrals were identified bilaterally and a Heaney clamp was used, The pedicle was then clamped, cut and doubly suture ligated using #0 chromic. Next, the cardinal ligament was grasped using a Heaney clamp. This was then cut and once again doubly suture ligated bilaterally, Care was taken to clamp peritoneum to peritoneum on all of these pedicles, Next, the uteriaes were clamped, cut and suture ligated times two bilaterally and then finally the uterus was flipped and a clamp was placed across the utero-ovarian ligament and the tube bilaterally. A free tie was placed around the lower clamp and tied and then a Heany suture was placed. This was carried out bilaterally and the specimen was removed, All pedicles were examined closely for bleeding, After one figure-of-eight was placed, hemostasis was obtained, Next, the peritoneum was closed using a running pursestring suture of chromic. The vaginal cuff was closed by placing a

UNIVERSITY HOSPITALS OF CLEVELAND

NAME	OLA, CHERYL
HOSPITAL NO.	1-381-887
AGE	
DATE OF SURGERY	11/17/86
ROOM NO.	HH2
SERVICE	Gynecology

PREOPERATIVE DIAGNOSIS

figure-of-eight in the angles and then using interrupteds in a vertical fashion. Hemostasis was observed at all points and the hysterectomy was considered complete. No packing was necessary. The patient was awakened and taken to the Recovery Room in good condition and her specimen which included the uterus was taken to Pathology.

POSTOPERATIVE DIAGNOSIS

OPERATION Dr. T. Heyman for Dr. M. MacFee
11/17/86

MRC#30

SURGEON 11/18/86

11-20-86 D-A

ASSISTANT SURGEON

ANESTHESIA

Heyman
5793

[Signature]

18

University Hospitals of Cleveland

CONSULTATION
SHEET

NAME

HOSP
NOSERVICE
NRS
PUN

Ola, Cheryl

1381887/11/18/86

ADM
PHYS

MacFee

1201 1954

TO

Neurology
Consulting Physician or Service

Date: 11/18/84

Patient is being referred for

R/o femoral neuro palsy S/P
vaguest in lithotomy position x ~ 20
(difficultly) = (2) MacFee
hip flexion - 50°

Referring Physician

, M.D.

CONSULTANT'S NOTE

Fishing for
MacFee

Asked to see pt for eval of difficulty in hip flexion after vag hysterectomy. Pt is 52 yo WF 5/8 vaginal hysterectomy on 11/17 for CTS of ca. Dr on pop of abd cells made 5 yrs ago. Pt of Ca in situ made on case for during 1st wk of Oct. Pts otherwise 3 medical problems. Hysterectomy performed on 11/17 under GEI. E pt in lithotomy position x ~ 20°. On 11/18 noted pt had difficulty in hip flexion in straight leg raise. On 11/18 pt noted going to BR that "R leg gave out" in area of knee. Denies loss of sensation in legs. ? Numbness over knee. @ MEDS LABANTIONS - all

T 37 P 80 R 18 BP 110/60

MSE: A.O.I.S. 3 deficits Speech & language ok

② Spinal tenderness, neck in full ROM ③ restriction in spinal ROM

Gait: slow & at back step Motor: ① & ② UE 5/5 Bilat at fore, bulk

ileopsoas ham. quad. add. abd. plant. dorsit. inv. evnt

① 5/5 5/5 5/5 5/5 5/5 5/5 5/5 5/5

② 4/5 5/5 4/5 4/5 5/5 5/5 5/5 5/5


Sensory & pinprick over

medial aspect of (R) leg in saphenous nerve distribution
sl. & in lesser distribution of saphenous in (L)

Cere: F.T.N., HTS. Romberg intact

I.T.R.

C.N.s - 3 deficits



Impression: Pt 2 port a weakness of ileopsoas, quad. & adductors of (R) leg & sensory loss over distribution of saphenous N (L4-L5) probably 2° to injury to nerve at level of inguinal ligament however involvement of ileopsoas suggests a higher involvement. Higher involvement could be 2° to a mass compressing or

vascular event which is both unlikely in this woman. Pt has report the legs improved in the past 24 hrs. (11/18/84)

RECOMMEND

① Physical Therapy is in & out patient to facilitate full recovery

② EMG to document recovery in 5 wks and end for any residual nerve injury

Printed Name:

1201 1954

ORIGINAL-TO MEDICAL RECORDS

PATIENT'S NOTES

OLA, CHERYL E.

1381887 11 17 86 B

024

HM

002 2198

F 1 30 1954

P O
MICHAEL
NSMACFEE
DPH 51659

11/18/86 Progress Note POD #1

0700

③ "feels better, 5 complaints, pain meds adequate"
 ② VS: T 36° HR 110 Resp 20 BP 120/68
 T'S 2400 IVCC U.O. 1750cc Drain 25cc

Lungs: clear

tor: error

ABD + BS, soft

Ext: non tender, no cords

- ① Pt stable s/p vag hyst, improving
 ② 1) clear, liquid
 2) encephalate
 3) SC Foley

[Signature]
[Signature]

Addendum Pt fell this AM

③ hip flexion difficult & straight leg
 raise - must adduct leg to raise leg.

A/p Pto femoral - nerve injury
 Neuro eval

[Signature]

11/19/86 Progress Note POD #2

0645

③ Pt denies H.A., n.v., SOB and reports less
 numbness in left leg & rt leg unchanged, I.V. on

② VS: T 37° HR 88 Resp 18 BP 110/60

I's 1750 OSLR + 1000 OSLB + 10320 = 3070 cc

O's: U.O. 2250 cc, perisad 20cc

Lungs: clear

ABD: diminished BS, soft, non tender, non dist
 (over)

Cat RHR 5 @ 3.0

Neuro: OX3, straight leg raise unchanged & difficult
flexion of rt hip. No demonstrable sensory
loss

Labs: 137 / 104 / 119 / 29 39 / 99 73 5 11 4 94 L
4.0 / 26 / 4 254

(A) S/P TKH recovering well & signs of infection

- (P)
- 1) ~~re-start~~ Heparin 5000 IU SQ BID
 - 2) Neuro consult for rt leg weakness
 - 3) consider advancing diet
 - 4) consider bearing IV out if I'd good

R. Hall JMS

1 RJE
MJE

Neurology attending Note

11/19/86

2:00 A.M.

Care run today and discussed. She has weakness
of R quadriceps (4/5-) and R Thompsons (4/5)
Also it seems changes in the distribution of the
sensory nerve B7C. All reflexes are
gone but preserved in the other extremities arms
and ankles.

I suspect she has bilateral femoral neuro
pathy B7C. The brain is probably
protected by the surgical procedure.
(mechanical decompression). This could be
related to the surgical procedure. It
present she is asymptomatic.

Plan: 1) Physical therapy.

2) Give CMO advice with 1 more and
be replaced 2-3 weeks from now.

3) If she doesn't improve I will get a
spinal CT scan.

Dr. Hall
L. Hall JMS

John E. J. Spagnuolo M.D.
OLA, CHERYL E.
1381887 11 17 86 8
024 9 999 0001
RDNT
F 1 30 1954 P 0
Dated: **MACFEE** R: **MICHAEL**

POSITION
ON TABLE
CODES

ANESTHETIST *Spagnuolo*
ANESTHETIST *Downs/Friello*
SURGEON *McFee*
SURGEON *E. A. I.*
PROCEDURE: *Vaginal Hysterectomy*
POST-OP DIAGNOSIS: *C. Intra*
TYPE OF ANESTHESIA: ☒ GENERAL
SPECIAL TECHNIQUES: ☐ HYPERCARBIA
☐ HYPOTHERMIA
☐ HYPOTENSION
☐ BYPASS
☐ OTHER
REGIONS: ☐ INTRA-ABDOMINAL
☐ INTRATHORACIC
☐ INTRACRANIAL
☐ O.B.
☐ C. SECTION
☐ OTHER

ANESTHESIA TIME *11:15* TO *1:30*
OPERATION TIME *11:45* TO *1:30*
ASA: ☒ EL ☐ EM ☐ 1

TIME:		12 ⁰⁰	13 ⁰⁰	14 ⁰⁰	15 ⁰⁰	TOTALS
AGENTS	OXYGEN <i>2</i>	<i>2</i>	<i>2</i>	<i>2</i>	<i>2</i>	EBL <i>150</i>
	N ₂ O <i>3</i>	<i>3</i>	<i>3</i>	<i>3</i>	<i>3</i>	URINE <i>300</i>
	<i>Etone 10 Fm</i>	<i>15</i>	<i>1</i>	<i>12</i>	<i>1/4</i>	
	<i>Pant II Sur.</i>	<i>250</i>	<i>60</i>			
	<i>Cum II Pav.</i>	<i>3</i>				
FLUIDS	<i>Midog. mg</i>	<i>1</i>				
	<i>MS</i>	<i>5</i>				
	<i>Droperidol mg</i>	<i>5</i>	<i>.63</i>			
	<i>BAL</i>			<i>200</i>		<i>2600</i>
	TEMP. S <i>E</i> R	<i>36.5</i>	<i>36.3</i>	<i>36.1</i>	<i>36.1</i>	
EKG	<input checked="" type="checkbox"/>	<i>RST RST RST</i>	<i>WST</i>			
	PRECOR	<i>39</i>	<i>32</i>	<i>32</i>	<i>33</i>	
	STETH	<i>37</i>	<i>32</i>	<i>32</i>	<i>33</i>	
	ESOPH	<i>200</i>				
	STETH	<i>200</i>				
TUBE	SIZE	<i>7.2</i>	<i>180</i>	<i>60</i>	<i>290</i>	
	SIZE	<i>7.2</i>	<i>180</i>	<i>60</i>	<i>290</i>	
	ORAL					
	TUBE?					
	NASAL					
AIRWAY	TUBE?					
	SIZE:	<i>2.3</i>				
	BLADE:	<i>3</i>				
	COMMENTS:					
	<i>IV Mannitol</i>					
V	<i>Used.</i>					
A						
E						
F						
G						
H						
I						
J						

SYSTOLIC V
DIASTOLIC A
PULSE .
RESP O
CVP A
PREP P
ANES A
OPER A
FINISH X

PREMEDICATION EFFECT:
LIGHT ☒ ADEQUATE ☐ HEAVY ☐

REMARKS: *A E F G*
A IV: 18ga - inside @ 2cm
B Simplex IV induction + intubation
C Tubal - Adm - 2nd Adm - 2nd
D Ovarian + TAP to eyes
E 1st Adm - 1st Adm - 1st
F Xilo 17.5 / 100 cc - 100 cc
G Local infusion by 1st
H
I
J

PLAINTIFF'S
EXHIBIT
2
HEYMAN

- U) Pontocaine Lot Number
- V) Dextrose Lot Number
- W) Other Lot Number
- X) Birth Time
- Y) Placenta Time
- Z) Tape Number
- a) 1 Minute Apgar
- b) 5 Minute Apgar
- c) Sex of Child
- d)

UNIVERSITY HOSPITALS OF CLEVELAND

AUTHORIZATION FOR MEDICAL PROCEDURE AND
ACKNOWLEDGMENT OF RECEIPT OF RISK INFORMATION

OLA, CHERYL E

Hospital ID: 1381887 Date: 11 17 86 B

S024 Age: HH 002 D2198

Service: 1 30 1954 P 0

MACFEE

MICHAEL

DRN: 51659

Rm: N5

NAME Cheryl Ola AGE _____
HOSPITAL NUMBER _____ DATE _____ TIME _____ AM/ PM

PLEASE READ THIS FORM CAREFULLY, ASK ABOUT ANYTHING THAT YOU DO NOT UNDERSTAND.

I am being asked to sign this authorization confirming that the proposed procedure has been discussed with you, and that you have been informed about its risks, benefits and alternatives to make a decision. We wish to fully inform you and will be pleased to answer any questions you wish about the procedure.

I authorize Doctor(s) MacFee

or assistants to perform the following surgical, diagnostic or medical procedure on myself

as we have agreed upon: total vaginal hysterectomy

(relationship) self salpingophorectomy possible & possible separation of ovaries

I authorize the doctors to perform any other procedure that in their judgment is advisable for my well being. Details of this procedure have been explained to me. Alternate methods of treatment, if any, have also been explained to me, as have the advantages and disadvantages of each. I am advised that though beneficial results are hoped for, the possibility and nature of complications cannot be fully predicted, therefore, there can be no guarantee expressed or implied as to the result of the procedure or as to cure.

The doctor has explained to me the most likely complications or undesired results that might occur in this operation or medical procedure. I UNDERSTAND THEM. The doctor has also offered to explain to me the LESS LIKELY COMPLICATIONS.

I authorize the abovenamed physician with associates and assistants to provide such additional services as they may deem necessary including, but not limited to, the services of the Department of Anesthesia, the Department of Radiology, or the Department of Pathology and I hereby consent thereto.

I have read and understand this consent form. All blanks were filled in prior to my signature.

OF PATIENT Cheryl Ola

OF RELATIVE
RELATIVE (where required) _____

I declare that I have personally completed all blanks in this form and explained them to the patient or representative before requesting the patient or representative to sign it.

OF PHYSICIAN Stan Wilgoff

