

Doc. 193

STATE OF OHIO)
) SS: IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY)

CASE NO. 106333

DONALD B. MILLIGAN,)
)
 PLAINTIFF,) VIDEOTAPE DEPOSITION
)
VS .) OF
)
CSX ,) DR. BYRON HOFFMAN
)
 DEFENDANT.) JUDGE

VIDEOTAPE DEPOSITION taken before Tim Palcho, a Notary Public within and for the State of Ohio, pursuant to Notice, and as taken on April 20, 1988 in the office of Dr. Byron Hoffman, Huron Road Hospital, 2nd Floor, Cleveland, Ohio. Said deposition taken of Dr. Byron Hoffman is to be used as evidence on behalf of the Defendant in the aforesaid cause of action, pending in the Court of Common Pleas, within and for the County of Cuyahoga, for the State of Ohio.

APPEARANCES:

MR. MICHAEL B. MICHELSON,

On Behalf of the Plaintiff,

MR. HARLEY MCNEAL,

On Behalf of the Defendant.

1 OPERATOR: We're on the record.
2 Doctor, raise your right hand.
3 Do you swear the testimony you are
4 about to give to be the truth,
5 the whole truth, and nothing but
6 the truth, so help you God?

7 DR. HOFFMAN: I do.

8 DURING DIRECT EXAMINATION BY MR. HARLEY MCNEAL:

9 Q Dr. Hoffman, I am going to hand you what has
10 been marked as Defendant's Exhibit "A", Defendant's
11 Exhibit "B", and "C". Would you advise us as to what
12 the three documents are?

13 A Exhibit "A" is a copy of my curriculum vitae.
14 Exhibit "B" is a copy of...or the original of my written
15 report covering the examination of Donald B. Milligan
16 on December 15th, 1987,

17 Q The back portion.

18 A And I guess Exhibit "C" is the X-ray report
19 rendered by Hill and Thomas covering areas of the body
20 that I ordered to be X-rayed on 12/15/87.

21 Q And did you receive the X-rays that are
22 interpreted by Drs. Hill and Thomas or just the report?

23 A No, I review all...I look at all X-rays that
24 I order. I have the X-rays that we ordered here today.

25 Q Very well, If I may, I will then ask you what is

1 a curriculum vitae?

2 A Oh, I guess it is a **summary of your** past training
3 and activities of a professional nature.

4 Q And would Defendant's Exhibit "A" which you have
5 identified as the curriculum vitae, is this an accurate
6 listing of your education, your board certification,
7 and the activities in which you would have been involved
8 in over the period of the time from graduation from
9 medical school up to the present time?

10 A Yes. It also includes the educational background
11 leading to the practice of orthopaedics,

12 Q And what is board certification?

13 A In orthopaedics, after the conclusion of the
14 prescribed required training period, you take a written
15 and oral examination. Then two years following that
16 written and oral examination you take a second written
17 and oral. If you pass both then you are certified by
18 The American Board of Orthopaedic Surgery.

19 Q And certified to do what?

20 A Orthopaedic surgery.

21 Q And generally, in two or three sentences, what
22 is orthopaedic surgery?

23 A It is that branch of surgery that specializes in
24 problems, injuries, diseases, and the maintenance of
25 health in what we call the skeletal muscular system and

1 its associated structures; that is bones, joints, ligament:
2 tendons, muscles, blood vessels, nerves, et cetera.

3 Q And Defendant's Exhibit "C" relate the findings
4 of roentgenologist relative to the X-rays which were
5 taken, is that correct?

6 A That is correct, yes.

7 Q And do you have a copy of that?

8 A I do.

9 Q And going to the areas which were X-rayed relative
10 to both the right knee and the left knee, the conclusions
11 as to those X-ray findings were what?

12 A May we go off the record for a moment?

13 Q Yes.

14 OPERATOR: We're off the record.

15 OPERATOR: We're on the record.

16 A Would you repeat your question, Mr. McNeal?

17 Q Yes. As to the information which is listed or
18 contained in the X-ray reports from Dr. Hill and Thomas,
19 and particularly I believe...I'm not familiar with the...
(Phonic)
20 yes, it was by a Dr. Crudy who apparently is a
21 roentgenologist either employed by or a member of
22 Drs. Hill and Thomas who have done X-ray interpretations
23 and undertaking....undertaken X-ray diagnoses over a
24 period of years, is that correct?

25 A Yes.

1 Q

What were the findings as to Dr. Crudy's X-rays relative to the right and left knees?

2 A

Well, the conclusions of Dr. Crudy are also my conclusions, having reviewed the X-rays at the time they were taken, and so that they are both of our conclusions. On the left knee we found...he found... we found aging changes in the knee; that is the left knee. On the right knee, again there were aging changes in the knee and on the right knee there was a density that suggested the possibility of a loose body in the right knee. That is a "joint mouse" I think is the lay term for it. So he had aging changes in both knees, of pretty much comparable degree, and he had a joint mouse possibly in the left knee. The X-ray couldn't make that diagnosis exactly.

16 Q

Is that what...aging changes, is that what is referred to as degenerative?

18 A

Yes.

19 Q

And how does degenerative differ from traumatic?

20 A

There can be post traumatic degenerative changes in a joint if that joint has been subjected to an appropriate type of injury that leads to that. Aging degenerative changes are a part of the biochemical process that we are all trying to fight.

25 Q

That would be unrelated to trauma, is that correct?

I 9:00:03 - MR. MICHELSON: Objection.

2 Objection. It is leading.

3 A There are....as we already stated, there are
4 post traumatic degenerative changes if the trauma to
5 a joint is appropriate. The vast majority, statistically
6 population-wise, of degenerative changes in a joint are
7 due to the aging process because 100 percent of human
8 beings undergo them.

9 Q And in your examination, which was both a
10 physical examination and also an interpretation of the
11 X-rays, did you find any evidence in so far as
12 Dr. Milligan was....or Donald Milligan was concerned
13 relative to a difference between degenerative and
14 traumatic?

15 9:00:51 - MR. MICHELSON: Objection.

16 A Are we talking about the knees?

17 Q That is correct.

18 A Your question is a long one. I think the answer
19 would be that I found no evidence of post traumatic
20 degenerative changes in either knee.

21 Q And that would be in connection with not only
22 the examination you made, but also the history which
23 was secured?

24 9:01:24 - MR. MICHELSON: Objection

25 MR. MCNEAL: Well, if we want to,...

1 MR. MICHELSON: Withdraw the
2 objection.
3 MR. MCNEAL: Very well. Fine.
4 Because we can do it the hard way
5 if we have to, but I am trying to
6 save time.
7 Okay- Go ahead, Doctor-
8 Well, I am not quite sure what the question was.
9 Would you go back to what you were describing
10 as to your findings on examination of Mr. Milligan
11 relative to the relation of his complaints to your
12 findings?
13 Well, his complaints in the left knee were pain
14 in the front of knee in the area of the kneecap. The
15 findings in the knee, in the left knee, were, as I have
16 stated, aging changes in the knee, in the left knee,
17 but also aging changes in the right knee in which he
18 didn't have symptoms. I don't know whether that answers
19 your question or not.
20 Very well. When you did examine him under the
21 heading of "Present Illness," I believe Mr. Milligan
22 described what had occurred I believe by the date of
23 September 13th, 1984. Would you look at that and refresh
24 your recollection as to what his complaints were with
25 that. ...respecting the date of September 13th, 1984?

I A Well, the description of the mechanism and
2 circumstances of the injury of 9/13/84 was gained
3 through two sources. One; review of a deposition that
4 the patient gave on 11/3/87 and a corroboration of
5 the patient at the time I examined him of...for ^{wed--}~~one~~ of
6 a better word....corroboration of the previous deposition.
7 The combination indicated that, "I **was** walking on the
8 east side of the air compressor room. I stepped in a
9 hole." He did not fall. The patient indicated, "I
10 stepped in the hole and I felt severe pain in my left
11 knee and kind of wrenched myself with my back." The
12 deposition itself indicated that he walked away from
13 the accident in ~~counter~~ ^{contra} distinction to having to be
14 carried away on the stretcher. That he first had
15 medical attention...well, I don't know whether the
16 deposition said this or the patient said this, but
17 anyway a combination of the patient and the records
18 indicated that the first medical attention following
19 the episode of 9/13/84 was on 9/17/84, 4 days later;
20 this being rendered by his private physician.

21 2 And your examination of both knees were as you
22 have previously related to us?

23 A Yes.

24 2 And what part, if any, did the description of the
25 accident of 9/13/84 play in so far as injury to the knee..

1 ...left knee is concerned?

2 A I can **only** testify to the patient's condition at
3 the time that I examined him on 12/15/87, At the time
4 of that examination I found no evidence of an orthopaedic
5 abnormality that I could attribute back to the episode
6 of 9/13/84 ,

7 Q And that would all be reported in your report
8 of December 15th, 1987, is that correct?

9 A I believe it....(VO)

10 9:05:35 - MR. MICHELSON: Objection.

11 A I believe it is reported in that report, yes,

12 Q Well, now also in your examination...physical
13 examination of Mr. Milligan in your office on I believe
14 it was December 15th, 1987, what did you observe in so
15 far as not only your examination was concerned, but
16 what Mr. Milligan did on presenting himself to you in
17 his office....in your office?

18 A The physical examination in orthopaedics, as
19 in any field of medicine, but probably more so in
20 orthopaedics because it is a mechanical specialty; that
21 is it is how the patients works and how the patient
22 functions, there are two equally major portions of the
23 physical examination. One is conducted in the consulting
24 room. As you are taking your history on 'the patient....(VO)
(VO)

25 9:06:40 - MR. MICHELSON: Objection.

1 Q Go ahead.

2 Ayou watch how the patient walks from the
3 waiting room into your consulting room, how he sits
4 in a chair, the posture in the chair, how he gets up
5 out of the chair, how he walks from the consulting room
6 to the examining room, how he dresses or how he undresses,
7 and so forth, so that you are watching the patient's
8 mechanical movements during that phase....that major
9 phase of the physical examination. Part two of the
10 physical examination, which is equally important, is
11 conducted in the examining room and is what the lay
12 public interprets as the only part of the physical
13 examination. | In this particular patient's case the
14 examination was of the low back, the flanks, the kidney
15 areas, the sacroiliac joints, both of his shoulders, his
16 buttocks, both of his hips, and both of his upper and
17 lower extremities from a bone, joint, ligament, tendon,
18 muscle, blood vessel, and nerve standpoint. He was
19 examined in the standing, sitting, and lying positions
20 wearing only his shorts. His wife was present for the
21 examination. In that examination all maneuvers were
22 done by the patient. There was no passive. ...I didn't
23 move him. He did all the moving. We found his temperature
24 and pulse to be normal, The patient indicated that he
25 was right handed. There were surgical scars involving

1 the areas of both knees. There was a scar in the midline
2 in the low back...down in the low back from the site
3 of a previous spine fusion. There was a small circular
4 scar over the front of the left shoulder in this area.

5 Q Pointing to your left shoulder?

6 A In this area of the left shoulder. It was a
7 punctate scar.

8 Q What is a punctate scar?

9 A It is a circular scar. It was about the size I
10 think of may be your fingernail. All the scars were
11 well healed, they were non-tender, and they were stable.
12 There was slight flexor tendon crepitus at the "MP"
13 joints of the thumb bilaterally of equal degree, but
14 no triggering.

15 Q What is that?

16 A What we mean by that is that each thumb in this
17 area when he moved them you would feel a little grating
18 in these two joints. This physical finding was
19 substantiated on some X-rays of the finding of aging
20 changes in those two joints. There was no triggering of
21 the thumbs at that time. What we mean by that is that
22 you...many people have this...that you bring your thumb
23 down and it gets caught and you can't bring it out, and
24 then out it will come. What it is due to is it is due
25 to swelling of the flexor tendon at the insertion of

I the fiberosseous tunnel. of the thumb. To make that in
2 lay terms, the tendon beginning at **about** this point
3 in the hand that brings down the thumb runs or enters
4 a tunnel, an encasement so to speak, through which that
5 tendon runs. Because of the particular architecture and
6 vascular supply of the tendon at that area, **it** is
7 ~~prone~~ to undergo what we call degenerative changes
8 and this forms a little bubble on the tendon. So that
9 as the tendon enters the tunnel the bubble gets caught
10 and **it** will click back and forth. Usually a couple of
11 shots...in the majority of cases a couple of shots
12 or cortisone solves that problem. If cortisone doesn't
13 solve **it**, which is fairly rare, I would say maybe 25
14 percent of the time, then the patient has the choice
15 of living with **it** or having surgical correction of **it**.

16 Q Is that again an aging process?

17 Yes, It is very common. Let's see.

18 Q You are on six.

19 He had grating of the kneecaps on motion of the
20 knees of equal degree on both sides. That means that
21 when he flexed and extended his knee, if this is his
22 knee and his kneecap is here, you could feel a grinding
23 of the kneecap on the femur. 100 percent of people get
24 this as we age. Otherwise the examination as I have
25 described **it** was normal. The..he had had a spine fusion

1 in the past, but the spine fusion was not of the degree
2 that limited clinically, that is through observation,
3 limited the motion of the spinal column on physical
4 examination. It is very similar to say you have a chain
5 of, well, let's say 24 links and you fuse two of the
6 links together. It really doesn't interfere with the
7 motion or the flexibility of the chain to that degree.

8 Q The ability to bend, twist, turn, or pull would
9 still be there?

10 A Yes. It would still be there with just a one
11 segment fusion. By physical examination it was there.
12 Let's see. That was the extent of the findings; the
13 objective findings on physical examination.

14 Q Now, what did you also observe other than the
15 physical findings when he was in your consulting room?

16 A You mean, in the consulting room or in the
17 examination room?

18 Q Well, when he entered the consulting room you
19 have a....

20 A Oh, all right.

21 Qapparently you were present at that time?

22 A Yes.

23 Q That is in your section listed as "Number 10-A"
24 on page 6.

25 A Well, I have a series of things listed under

1 item 10-A. Upon entering the consulting room the patient
(Phonic)
2 was carrying a Loftstrand Crutch. **That** is a crutch that
3 has' a handle on **it** and a band that goes around the back
4 of the arm. **It** is not up into the armpit and so we
5 call that an axillary crutch. He was carrying a Loftstrand
6 Crutch in **his** right hand, but **was** not relying on **it** for
7 ambulation and exhibited no evidence of a limp. So he
8 was carrying **it**, but not using **it**. During direct range
9 of motion examination, that is asking him to move the
10 back back and forth, and through the range of motion
11 examination he exhibited marked limitation of motion
12 to the point where he held his back, low back, in the
13 neutral position in almost rigidity. Then during other
14 portions of the examination, when we were not looking
15 for range of motion particularly, he exhibited a normal
16 range of motion of the back. Straight leg raising in
17 the sitting position bilaterally was negative to 90
18 degrees while in the supine position **it** was bilaterally
19 positive at 30 degrees,

20 Q What would account for that difference?

21 A Well, **it** would not be organic orthopaedic
22 pathology.

23 Q You mean that is not due to some injury or.....

24 9:14:23 - MR. MICHELSON: Objection.

25 Qproblem?

9:14:24 - MR. MICHELSON: Objection.

A Well, it is....

Q What would be the difference between straight leg raising bilaterally negative to 90 degrees and then when flat I am assuming it was positive bilaterally to 30 degrees? What brought about that difference in degrees?

A Well, I can't tell you what brought about it in the sense that it is an inconsistent finding. They should either be negative in both positions or positive in both positions because they are exactly the same examination as far as the mechanics of the leg are concerned. So it indicated to me that his...since under two circumstances there were variations in that test you would have to conclude that he had a negative straight leg raising examination.

Q Is that something that is controlled by the individual or it is controlled by the circumstances of the physical condition of the patient?

A Well, since the response on a straight leg raising examination is a subjective response then it is controlled by the patient. That is his response is controlled by him.

Q Which would compare with the rigidity of the spine?

1 A Yes. Again, the patient has control over his
2 motion of his spine.

3 Q All right. What other findings?

4 During direct examination of the left shoulder,
5 that is, my attention and the patient's attention was
6 directed to an examination of the left shoulder, he
7 exhibited marked limitation of motion due to the subjective
8 response of pain. That is when we were both focusing
9 on the left shoulder and moving it through various,
10 motions he exhibited marked limitation of motion of it,...
11 marked restriction of motion of it and indicated that
12 that was painful. However, then during other portions
13 of the examination he exhibited a normal range of motion
14 to the left shoulder when neither he or my attention was
15 specifically directed to the left shoulder, and this
16 being without apparent pain. He had good muscle function
17 of the left shoulder. I used the illustration in the
18 report that during part of the examination you have the
19 patient lying on his face on the table and you are
20 examining his spine with him lying down on his face.
21 That is the final part of the examination that I have
22 alluded to. Then in the process of having the patient
23 say, now, get off the table and stand up, he did a
24 push up with both arms in the process of rolling over to
25 get off the table without apparent difficulty. I think

1 that concludes the section of the report on the physical
2 examination.

3 Q All right. And your diagnosis included what?

4 A Well, the items under diagnosis were four. No
5 evidence of orthopaedic pathology due to the presence
6 of 9/13/84.

7 Q That is the episode with the hole, is that correct?

8 A Yes, it was. This is the episode that we are
9 considering here this morning. He was status post
10 operative from his spine fusion at L4 to L5. That is
11 down low in the back they saw two of his vertebrae
12 together.

13 Q For what purpose?

14 A I'll have to go back. Can we go off the record
15 for a moment. It will take me a few minutes to find it.

16 OPERATOR: We're off the record.

17 OPERATOR: We're on the record.

18 Q Go ahead.

19 A Could you repeat the question, Mr. McNeal?

20 Q In so far as the spinal fusion was concerned, what
21 did you find relative to the spine fusion which would be
22 related to any complaints that Mr. Milligan made during
23 your examination of him?

24 A I don't think the spine fusion that was done,
25 let's see, in 1965 was contributing to the complaints that

1 he voiced at the time that I saw him. By physical
2 examination and by X-ray at that point at the time that
3 we examined him he had had a good spine fusion, the
4 spine was good and solid in the area of the fusion, and
5 I don't think the spine fusion in itself **was** contributing
6 to any of his back complaints at the time I saw him.
7 I think that the fact that he had a spine fusion in the
8 past **was** indicative that he had had previous low back
9 problems that necessitated the spine fusion.

10 Q Would that again be an aging process?

11 A I wasn't there at the time of the spine fusion
12 in 1965 and I really can't testify to the nuances of
13 it. Apparently, however, that following the spine
14 fusion of 1965, according to past history that **was**
15 obtained from the records reviewed, at least in 1973
16 he had low back pain. So that one would have to assume
17 that...and I think rightly so...in my patients when I
18 did a spine fusion I always tell them that this isn't
19 going to relieve the backache for the rest of your life
20 if you have any causes of backache. He had subsequent
21 episodes of backache as is expected. So he has a past
22 history of low back pain and low Sack surgery.

23 Q And continuing you also had a diagnosis relative
24 to both knees and what were your conclusions relative
25 to your diagnosis?

1 A He had aging changes in both knees pretty much
 2 of comparable degree; maybe a little greater on the left
 3 than on the right. He had degenerative changes, aging
 4 changes, in these joints of both thumbs.

5 Q Would that be your conclusions as to your
 6 examination of Mr. Milligan, not only physical examination,
 7 but **the** examination which you made relative to his
 8 complaints which were made to you concerning his claim
 9 of stepping in a hole on September 13th, 1984?

10 A Well, those diagnoses are a combination of the
 11 tools I had available, so to speak, to evaluate the
 12 patient. Those tools were medical records, history from
 13 the patient, a deposition from the patient, physical
 14 examination of the patient at the time I saw him, and
 15 an X-ray examination. So we have five tools to use to
 16 arrive at those conclusions.

17 Then Doctor, finally assuming the statements of
 18 Mr. Milligan that on September 13th, 1984 he was walking
 19 on the east side of an air compressor room when he stepped
 20 in a hole and that from what he told you he did not
 21 fall; he was able to stop his fall and did not fall down.
 22 That as a result of stepping in the hole he felt severe
 23 pain in his left knee and also in some manner he indicated
 24 that he wrenched himself with his back. Now, assuming
 25 those facts and assuming again the statements which I

*But he
 didn't
 ignore a
 restricted
 failed to
 obtain
 the tools.*

1 have replated and which are also set for in your
2 December 15th, 1987 report, based upon your examination
3 which was undertaken on December 15th, 1987, what were
4 your conclusions as to your examination and the relation
5 of your examination and for findings to the accident
6 which Mr. Milligan related to you and described to you
7 which occurred on September 13th, 1984?

8 A That is a long question, Mr. McNeal. I think
9 the bottom line to your question as far as what
10 conclusions did I reach is that at the time that I
11 examined the patient on 12/15/87, I could find nothing
12 that I could....I could find no abnormality or disability
13 that I could attribute to the subject of 9/13/84.

14 MR. MCNEAL: I would lastly like
15 to introduce as part of the defense
16 of this case the original report
17 of Dr. Hoffman....

18 9:25:18 - DR. MICHELSON: objection
19 MR. MCNEAL:dated December 15th
20 1987....

21 9:25:20 - MR. MICHELSON: objection
22 MR. MCNEAL:together with the
23 X-ray interpretations which have
24 been discussed by Dr. Hoffman,
25 attached to the report of December

1 15th, 1987, and the curriculum
2 vitae account which has been
3 marked as Defendant s Exhibit
4 "A" about which Dr. Hoffman
5 discussed as to his experience in
6 training in orthopaedics. I ask
7 the court to admit Defendant's
8 Exhibits "A", "B", and "C".

9 9:26:01 - MR. MICHELSON: I have
10 no objection to the curriculum
11 vitae and I certainly have no
12 objection to the X-ray record
13 which we have....which we will
14 stipulate as being authentic.
15 However, we have a strong objection
16 to the report of Dr. Hoffman. It
17 is not admissible, it is not
18 appropriate to be admissible, and
19 he has testified in full about his
20 examination and conclusions.
21 MR. MCNEAL: We will leave that up
22 to the court to interpret. Fine.

23 Q I have nothing further.

24 DURING CROSS EXAMINATION BY MR. MICHAEL MICHELSON:

25 Q Dr. Hoffman, we have met. My name is Michelson

1 as you know, and I am here representing the claimant in
2 this case, Mr. Milligan. If any of the questions I ask
3 you ~~can't~~ clear, or you don't understand them, or you
4 don't hear me, let me know please and I'll repeat or
5 explain it. Doctor, let's...on your curriculum vitae,
6 just some preliminary things, which hospitals are you now
7 presently affiliated with? I notice there is a list here.

8 A Yes. Those are the ones over the ~~corresp~~ of the
9 last 30 years.

10 Q Right.

11 A Currently it is Euclid General, Hillcrest, and at
12 ~~Huron~~ Huron Road I am the emeritus Chief of Orthopaedics and
13 Director of Quality Assurance.

14 Q So Huron Road, Euclid, and Hillcrest, right?

15 A Correct.

16 Q Okay. Now Doctor, first I want to go through
17 a few things that you have testified to to see if I
18 understand them or I can clarify them. In regard to
19 your testimony about the X-rays that you had taken....
20 you ordered them from ~~Dr.~~ Will and Thomas?

21 A I ordered them and Dr. Hill and Thomas took them.
22 Yes.

23 Q Right. And that is not unusual that physicians or
24 orthopaedic men will have the X-ray people take the
25 X-rays?

1 A Some Orthopaedic surgeons have an X-ray facility
2 in their office and they bill for the X-rays. I have
3 never done that because I don't want to be accused of
4 taking unnecessary X-rays, and so I don't want any
5 financial gain from the taking of X-rays and so I have
6 always referred them to a radiologist.

7 Q Well, I never even hinted that you wanted any
8 extra financial gain.

9 A No, I just....

10 Q I said that is appropriate.

11 A I just wanted to indicate why I don't particularly
12 take X-rays at my own facility.

13 Q Oh, no. That is all right. Well, certainly
14 Drs. Hill and Thomas are at least competent if not expert
15 radiologists ^{and} in their operation?

16 A Yes. I understand they are the largest group of
17 radiologists either in Ohio or The United States, I don't
18 know which.

19 MR. MCNEAL: In Ohio, I think.

20 DR. HOFFMAN: Is it Ohio?

21 MR. MCNEAL: I believe.

22 Q And they certainly take many, many X-rays and
23 review them?

24 A Yes.

25 Q All right. Including orthopaedic X-rays, I presume?

- 1 A Yes .
- 2 Q And that is why you use them?
- 3 A Well, no. I use them because they are in the office
4 building and it is convenient.
- 5 Q Okay. You have also read their X-rays?
- 6 A I read all the X-rays that I order.
- 7 Q Okay. So you have read these X-rays?
- 8 A Yes.
- 9 Q All right. In your opinion,. . .by the way, are
10 you qualified to read X-rays?
- 11 A I am qualified to read orthopaedic X-rays that
12 fall into my realm of expertise, yes, That is part of
13 the training.
- 14 Q That is based upon your orthopaedic training?
- 15 A Yes. That is true of any orthopaedic surgeon.
- 16 Q All right. You haven't taken an internship or
17 a residency certainly in radiology?
- 18 No, but in the process of your orthopaedic residency
19 you rotate through radiology from an orthopaedic standpoint.
- 20 Q I see. How long is that rotation generally?
- 21 The last 5 years because you are required....
- 22 Q No, no. The rotation through the.. . .
- 23 A The department of radiology?
- 24 Q Yes.
- 25 A Can we go off the record?

1 OPERATOR: We're off the record.
2 (OFF THE RECORD DUE TO INTERRUPTION
3 AT THE DOOR,)
4 OPERATOR: We're on the record.
5 Q Okay. I think the question was ~~that~~ I understand
6 during the course of your residency in orthopaedics and
7 your training you have occasion to look at orthopaedic
8 X-rays on a regular and continuing basis?
9 A That is right, yes.
10 Q I understand.
11 A Throughout the 5 years.
12 Q You mentioned a rotation through radiology during
13 the course of the residency and I just wanted to know
14 briefly how long that residency rotation itself was?
15 A It occupies the entire time that you are in
16 your orthopaedic training.
17 Q Oh, okay.
18 A Because you are responsible for the X-rays that
19 are obtained by the particular service you are on; the
20 private service, the out patient service, the trauma
21 service, et cetera.
22 Q And your policy is, as I understand it, to read
23 all of your own X-rays?
24 A I do.
25 Q That you order?

1 That I order, yes.

2 And you make your independent evaluation?

3 Yes.

4 And you have done that in this case?

5 I have.

6 And you have told us that you and Drs. Hill and
7 Thomas...or I'm sorry....Dr. Crudy agree?

8 Agree, yes.

9 Okay. Now, I noticed that in the....now, it is
10 your opinion after reading the X-rays that Mr. Milligan's
11 left knee X-ray and Mr. Milligan's right knee X-ray
12 describe basically equivalent knees from an orthopaedic
13 perspective, is that correct? *

14 Yes, that is correct. There are minor nuances
15 of difference, but I think they are both equivalent
16 pretty much.

17 Okay. So you agree that his left knee showed
18 a moderately advanced degenerative change including
19 both lateral and medial joint spaces and the retro-
20 patella region with joint space narrowing?

21 I agree with what it says in the X-ray report
22 with regard to the knees, yes.

23 Q All right. Now Doctor, in the X-ray report of
24 the lumbosacral spine, I notice ~~that~~ you indicate that
25 you felt the X-ray of the spine showed a stable and strong

1 fusion, is that correct? Good and solid I think were
2 your words?

3 A Yes. My opinion was that it was good and solid.

4 Q Dr. Crudy indicates that the fusion perhaps is
5 not complete at the L4-5 level and it shows a motion of
6 L4-5. Is that correct?

7 A Yes.

8 Q Is that different than being stable and solid?

9 A Basically not because he says, suggesting. He
10 doesn't say it is.

11 Q But that is a possibility that it is unstable?

12 A Anything under the sun is possible. It is possible
13 that both Dr. Crudy and I both are wrong.

14 Q Well, in this case Dr. Crudy is saying, is he not,
15 that a review of the X-rays, because of motion in
16 flexion and extension on lateral views ~~of~~ L4-5 that
17 there is a less than solid fusion, is that what he is
18 suggesting?

19 A Yes, he is suggesting that possibility, but he
20 is not making that a diagnosis.

21 That is correct. So...and you are saying there is
22 no possibility of that?

23 A No, I said anything under the sun is possible.

24 Q All right.

25 A In reviewing the X-rays and reviewing Dr. Crudy's

1 comments, it is my opinion that the spine fusion is
2 solid and in fact there is no motion existing at the
3 spine fusion.

4 Q Oh, okay. So you disagree that there is motion?

5 A No, I don't disagree. Dr. Crudy does not say
6 that there is absolutely motion. He is suggesting that
7 possibility. There is a certain art left in medicine
8 that is not quite in, you know, such finite terms.

9 Q He is suggesting, is he not, that the X-ray
10 finding, because it describes some slight motion between
11 L4-5 in flexion and extension lateral views, may suggest
12 a non-union or an unstable fusion, isn't that what he
13 is suggesting?

14 A And it may not suggest it.

15 Q I'm asking what he is suggesting.

16 A Well, you can read what he says.

17 Q That is all I am asking. Do you agree with that?

18 A I am agreeing with the X-ray report as it is
19 stated.

20 Q Okay. Now, there was some discussion with
21 Mr. McNeal concerning the difference between degenerative
22 changes and traumatic changes. There certainly is no
23 way to tell whether or not something is a degenerative
24 change in a joint caused by aging or a degenerative change
25 caused by trauma, assuming a distance of time between the

1 trauma and the time you are looking at the X-ray, is
2 that correct?

3 A No.

4 Q All right. Tell me how you can tell the difference?

5 A By the findings on the X-ray and by the history.

6 Q I'm just talking about X-rays now. Forget the
7 history for a moment.

8 A Well, that is like forgetting part of the tools
9 that you use in medicine. You don't do an operation
10 if you forget the scalpel.

11 Q so the history is critical in making conclusions?

12 A No, but it is certainly a value.

13 Q A significant value?

14 A Yes, if it is obtainable.

15 Q Okay. So the person who has the significant
16 historythe physician who has a obtained or can
17 obtain a very definitive history and a complete history,
18 as well as all of the other tests and observations,
19 certainly is in the best position to make an evaluation.
20 of a patient, is that correct?

21 A Well, you say best. Best relative to what?

22 Q Somebody who does not have a complete history or
23 a complete record and somebody who has not had the
24 opportunity to personally treat, observe, and examine
25 the patient over a period of many years.

1 9:39:39 - MR. MCNEAL: I would
2 object to that.

3 Q As compared to that person.

4 A Well, the answer to your question is yes and no.

5 Q Okay. Tell me why no?

6 A Well, no in the sense that your question implies
7 that adequate treatment can not be rendered in the
8 absence of a history.

9 Q No, I didn't ask you that. Excuse me, Doctor.
10 I certainly don't mean to imply that you can't adequately
11 treat. I asked a different question all together.

12 A Well, you'll have to tell me what question you
13 asked because that was my interpretation of the question.

14 Q I'm sorry then if I wasn't clear. The physician,
15 (Phonic)
16 for example in this case, Dr. Stiles, who has treated
17 Mr. Milligan for a series of orthopaedic problems and
18 had him...and treated him with surgery...including
19 surgery **over** a period of well over 20 to 25 years has
20 had the opportunity to take a complete history over that.
21 25 years, examine all of his records, and himself note
22 the transitions and the changes in Mr. Milligan's body,
23 and has had the opportunity to go in and actually observe
24 and feel the underlying tissues, both bony and **soft**, even
25 within his body, for example in the knee joints, in his
back, and in his shoulder. That physician would have

1 the best opportunity of making....and assuming that he
 2 is a qualified physician; a board certified orthopaedic
 3 physician....he will have the best opportunity for
 4 evaluating that patient, would he not?

5 A Over the course of those number of years, yes.

6 Q Okay. And drawing conclusions?

7 9:41:50 - MR. MCNEAL: Well, I
 8 object.

9 MR. MICHELSON: Withdraw the last....

10 MR. MCNEAL: That is your opinion.

11 MR. MICHELSON: I said I'll withdraw
 12 it.

13 MR. MCNEAL: Okay. Fine.

14 Q Now, let's get back to degenerative changes.

15 degenerative changes you said can certainly be the
 16 result of trauma?

17 A They can be, yes.

18 Q And so when you observe a degenerative change in
 19 a joint and there is a history of trauma at sometime before,
 20 it can be very possible that that degenerative change
 21 may be contributed to by the trauma....

22 9:42:00 - MR. MCNEAL: Objection. (VO)

23is that correct? (VO)

24 9:42:01 - MR. MCNEAL: Objection.

25 A Well, again you use possible and anything under
 the sun is possible. So to your question I think I would

1 have to say, yes, but it is not probable.

2 Q Why is that?

3 A Because it depends upon the tools that you have
4 to evaluate the patient.....

5 Q What tools?

6 A ...at the time that you are making the evaluation.
7 It is possible....it is probable.. ..it is a fact that
8 given an appropriate examination one can differentiate
9 between aging degenerative changes and post traumatic
10 degenerative changes.

11 Q And what would that examination consist of?

12 A Well, it depends upon, one, what trauma or what
13 degenerative change you are looking for, what joints
14 you are looking for, the history of the patient, et
15 cetera.

16 Q Well, we want to look about an examination of
17 the knees in this case obviously.

18 A All right. Let's do a facetious, but simple
19 illustration. That if the history indicates that the
20 patient has had trauma to the knee, the diagnosis, for
21 example, is a depressed fracture of the lateral plateau
22 of the knee, The X-rays 20 years later show residual
23 evidence of a fracture of the lateral plateau of the
24 knee which is healed, and in that area there are
25 degenerative changes, then you come to the conclusion that

1 those degenerative changes are due to the previous
2 fracture. So that is the way you arrive at degenerative,

3 Q I see,

4 A ...post traumatic degenerative changes.

5 Q And so if....

6 MR. MCNEAL: Had you finished
7 your answers, Doctor?

8 Q I'm sorry.

9 A Yes, I think I....

10 MR. MCNEAL: All right, fine.

11 A I just used that as an illustration.

12 Q Okay. So if you have a history of trauma and
13 subsequent to the trauma of the knee, in this case the
14 left knee, and there are...there is evidence of damage
15 to the knee as a result of that trauma or some damage
16 and surgery results which involves partial meniscectomies,
17 arthroplasties, scraping, removal of tissue, removal
18 of bones, osteophytes, ~~or~~ bony masses, on at least two
19 occasions. That there is evidence of effusion on a
20 regular ~~or~~ continuing basis over a three year period
21 subsequent to that trauma and before, during, and after
22 the surgeries, removal of fluid during that time by
23 aspiration, the **need** for injections of cortisone or
24 other type materials in the knee as anti-inflammatories,
25 and a continued pain, instability, locking, and giving way

1 of the knee, and then three or three and a half years
2 later you observe that knee with some degenerative change,
3 could that be the result of that trauma or traumas?

4 9:46:02 - MR. MCNEAL: Show an
5 objection. That isn't an accurate
6 recitation of what happened in
7 so far as this particular accident
8 of September 13th, 1983 is
9 concerned.

10 MR. MICHELSON: That will be up
11 to the jury to decide.

12 MR. MCNEAL: '84. '84.

13 A Is the scenario that you have given me the
14 scenario of this patient?

15 Q I just want you to assume that scenario

16 A Well, I need more information.

17 Q What other information?

18 A I need to know what the kind of trauma to the
19 knee was.

20 Q It was as Mr. Milligan did stepping in a hole....

21 A No, no. I mean the diagnosis of the trauma at
22 the time of the trauma.

23 Q By the examining physician at **that** time?

24 A **Yes.** In other words, what was he diagnosed at
25 that time.

1 Q I see. You would have to know that?

2 A I wouldn't have to know it.

3 Q You would want to know that?

4 A It would help me if I knew it, yes.

5 Q Okay. What else would you want to know?

6 A I think really based on the scenario that you
7 have given me that that would be the only thing that
8 I would want to know. If the scenario applies to this
9 patient and the findings on this patient that I found
10 at the time of my examination, then I wouldn't particularly
11 need the diagnosis at the time of the episode of injury.

12 Q Oh, I see. Well, assume that Mr. Mill... that is
13 a substantial part of... I mean, I don't have it
14 committed to memory, but assume that that is a substantial
15 part of the history of the patient from September 13th,
16 1984. That he injured his knee by stepping in the hole,
17 twisting and wrenching, feeling immediate pain, had
18 effusion, instability, and locking very shortly thereafter
19 on a graduated basis, That within three days required
20 aspiration of the knee and injection of some anti-
21 inflammatory, and... by the orthopaedic surgeon, and had
22 subsequent episodes and continuous episodes of swelling,
23 effusion, locking.... I know effusion may be the same as
24 swelling.. locking and giving way through October 22nd
25 which was approximately a little over 5 weeks from the

1 incident. Then you have had a fair review of all the
2 other things after that, but it included two further
3 surgeries on that knee, multiple aspirations of the
4 knee by the physician, and multiple injections of the
5 similar material. I assume it is a cortisone type of
6 material for anti-inflammatory and pain relief. We
7 are just talking about the knee now.

8 A Uh-huh.

9 Q And a general increase in symptomatology over the
10 period of time despite the surgeries. Can you say then
11 that the degenerative changes you observed may have been
12 the result of trauma.

13 9:48:13 - MR. MCNEAL: Show an
14 objection. Again, it has not been
15 accurately described,

16 Qas opposed to simply aging?

17 A Well, fortunately in this case, and you pretty
18 well have outlined them, that we did/^{have}voluminous records
19 on the patient which were of great assistance. Based
20 on your question we were fortunate in this case that
21 I think I can state that the findings, both by physical
22 examination and by X-ray at the time that I examined him,
23 were not degenerative changes caused by trauma.

24 Q Is that your conclusion?

25 MR. MCNEAL: He has just stated it.

1 Q

Please. That is your conclusion?

2 A

I guess you would call it a conclusion.

3 Q

Okay. Now, you indicate that in your review of the situation that you took a description of the incident of September 13th, 1984 when he stepped in the hole and twisted, and that you noted the first medical attention was on the 17th of September; some 3 or 4 days later?

9 A

Yes.

10 Q

All right. Now, do you know what his condition was or did you elicit from him what his condition was on the 14th of September; the day after?

13 A

No, because....

14 Q

I just want to know if you asked him.

15 A

Well, don't you want to know why?

16 Q

Not yet.

17 A

Not yet, all right. No, I did not ask him.

18 Q

Okay. And did you find out whether or not he had spoken with a physician before the 17th at all?

20 A

No, I did not.

21 Q

Nor whether he received any instructions from a physician?

23 A

No, I did not, but there were reasons for all of those.

25 Q

I assume there are. There are always reasons.

1 Now, you can now tell me the reason why you didn't
2 ask him.

3 A Well, number one, we examined him in 1987 and
4 the injury was 3 years ^{think} serwios. I don't/that you. I
5 or the patient could remember what our symptoms were
6 4 years ago at the time of an injury specifically and
7 with may degree of accuracy as far as the first 24 hours
8 were the second 24 hours. Secondly, it is relatively
9 unimportant whether he called a physician or not during
10 that interim of time because obviously a physician
11 can't treat you over the telephone nor can he make
12 a diagnosis. When patients call me I say, well, hold
13 your knee up to the telephone and I'll make a diagnosis.
14 So really that doesn't have any influence on the
15 accuracy of the evaluation of the patient during that
16 4 day interval.

17 Q Well, certainly it is important to know what his
18 condition was during that 3 or 4 days, is it not?

19 A Well, I imagine that at the time....

20 Q Excuse me. I am only asking you, doctor.

21 Not what you think happened, but I am asking you whether
22 or not it is important to know his condition from the
23 time of the accident until the time he first received
24 medical attention?

25 A Yes, but that didn't fall under my preview. That

1 would fall under the doctor that treated him at that
2 time. I wasn't there.

3 Q I understand you weren't there and I understand that
4 Dr. Stiles was, but you are drawing conclusions about
5 the damage caused on September 13th. I am simply asking
6 you isn't it important to know the condition of, in
7 this case, the knee immediately after and during the
8 next couple of days after the injury?

9 A Yes and no.

10 Q Okay. Now, did you ascertain what was done
11 and what Dr. Stiles found on September 17th when he
12 examined him?

13 A Yes. He...the patient indicated that initially
14 on an ambulatory basis he was treated with oral
15 medication, aspiration of the left knee, and steroid
16 injections.

17 Q That is from the doctor...that is from the
18 patient?

19 A I have it recorded as being obtained,...that
20 information being obtained from the patient.

21 Q Okay. Now, you mentioned in your examination
22 that the examination was conducted all in a passive
23 mode. I assume that is in distinction then to active
24 manipulation by you, is that right?

25 A That is right. I didn't manipulate the patient.

1 He did.. ..

2 At all?

3 No. Other than for testing ligamentous stability.

4 Well, tell me what that is?

5 Well, let's take, for example, you have been
6 concentrating on his knee....

7 I am only talking about the knee right at this
moment.

9 Okay. There are certain physical examination
10 tests which are accomplished by the doctor to evaluate
11 the integrity of the ligaments of the knee,

12 And that would include, as I understand it now,
13 holding onto the leg and holding onto the knee and moving
14 the knee yourself so that you can test whether or not
15 the knee is stable, or has movement, or those things,
16 is that right?

17 That is correct, yes.

18 Okay. Did you do that?

19 Yes. I have indicated that under my physical
20 examination.

21 And that, of course, is not passive, that is
22 active, correct?

23 That is correct.

24 All right. So your statement that all examination
25 was passive with no manip....you say being no.. ..see, I

1

am confused on what you said here. You said....

2 A

It says, "All maneuvers in the examination were performed actively by the patient and there being no passive manipulation by this examiner."

3 Q

All right. So I have misstating it then. In fact, you said there was no passive manipulation which would mean doctor manipulation; passive manipulation, right?

4 A

The passive manipulation applies to applying force or applying my endeavors to the patient other than for the ligaments.

5 Q

All right. You didn't say other than for.... but....

6 A

You don't have to. We didn't say the.....

7 MR. MCNEAL:

Let him finish his answer.

8 Q

I'm sorry.

9 A

Really you don't have to because everybody assumes that when you are....it is an assumption and a logical medical assumption, and all doctors do it, that if you are going to examine for ligamentous integrity that you have to examine the ligaments and this is passively. We didn't include in the report that the patient was breathing. You know, some things are obvious that you forgot to do some of these....

10 Q

You forget to dictate it?

1 A No, you don't forget to dictate them. You just
2 forget to. Oh, I would say in the process of being a
3 doctor to indicate that the lay public is going to
4 interpret your record.

5 Q Well, this was prepared for the purpose of this
6 litigation and so I assume that you understood that
7 Mr. McNeal and counsel were going to be reading it at
8 least, and that there would be the possibility of a
9 finder of fact; be it a jury or a judge, would have to
10 evaluate this, is that...you knew that?

11 A I knew that, yes.

12 Q Okay. All I am suggesting to you is then this
13 statement that it was entirely active motion by the
14 patient as opposed to any passive manipulation by the
15 physician, it is just not entirely accurate, is it?

16 A It is entirely accurate in the context that it
17 is used.

18 Q Except for the fact that you did do active.....
19 you did do manipulation of the knee to test the stability?

20 A I think we are tilting windmills, but....

21 Q I don't want to...

22 MR. MCNEAL: Go ahead and answer
23 that question, Doctor.

24 Q Answer the question if you can.

25 A That in the first part...the first paragraph

1 under the paragraph of physical examination, we indicated
2 that we examined him for one of other things, from a
3 skeletal standpoint. A skeletal standpoint includes
4 examination of joints. Examination of joints include
5 testing ligamentous stability.

6 Q Okay.

7 A So that that indicates that the ligaments.. well,
8 you don't have to go back and say that we manually tested.
9 the ligaments. That is the only way you can evaluate
10 ligaments.

11 Q Okay.

12 A But, in the process of dictating the item number
13 2 that you are talking about, passive manipulation, that
14 indicated that there was no other manipulation of the
15 patient.

16 OPERATOR: Excuse me. We're off
17 the record,

18 END OF TAPE ONE.

19 START OF TAPE TWO.

20 OPERATOR: We're on the record.

21 DURING CROSS EXAMINATION BY MR. MICHAEL MICHELSON CONTINUED:

22 Q Okay, Doctor, I think we have done that one
23 enough. Now, when you did do the manipulation of the
24 knee for stability, what did you find?

25 A That all the ligaments of the knee by the various

1 maneuvers you are testing ligamentous stability of the
2 knee, the ligaments were all stable.

3 Did you elicit any symptomatic complaints by
4 Mr. Milligan when you conducted that examination?

5 No.

6 Do you remember?

7 No.

8 Are you saying no because you didn't write any
9 down?

10 No, I didn't elicit any subjective complaints
11 during the examination or I would have written them down.

12 Okay. Let's go off for a second.

13 OPERATOR: We're off the record.

14 OPERATOR: We're on the record.

15 A couple of questions, Doctor, and then I will
16 go on to some other things. You mentioned particularly
17 to Mr. McNeal that upon several of the, as you call them,
18 discrepancies in the examination you said that he didn't
19 rely on the crutch when he came into the examining room...,
20 into the consulting room, is that right?

21 A That is correct.

22 Q All right. How far did he go when he came into
23 the consulting room?

24 A You mean how far **was** the distance of the walk?

25 Q **Yes.**

1 A Oh, about 10 feet.

2 Q Okay. Now, you also mentioned the straight leg
3 raising which in your view became a negative test
4 because it was inconsistent?

5 A That is correct.

6 Q Okay. The straight leg raising...correct me if
7 I am wrong...I am sure you will,...is a test that relates
8 to nerve damage or impingement generally in the back and
9 spine? Is that really what it is about?

10 A You are wrong.

11 Q Okay. So tell me what is wrong- What is that
12 for?

13 A The straight leg raising test is one part of a
14 Combination of tests; the straight leg raising and
15 Laseque's Sign., The straight leg raising test when done
16 indicates one of two possibilities; a hamstring muscle
17 tightness or sciatic nerve irritation. So to
18 differentiate which of those two is the case with a
19 positive straight leg raising you add the Laseque's Test
20 during the time the leg is raised., If the patient voices
21 appropriate subjective responses to the...or depending
22 upon what the patient's subjective response is to the
23 Laseque's **Test** it permits you to hopefully, but not
24 absolutely, differentiate between a hamstring muscle
25 tightness and a lumbar nerve irritation.

1 Q So the tests in conjunction with the Laseque
2 Test is to examine to see if, A, there is any nerve
3 involvement in the lumbar area or, B, hamstring
4 tightening?

5 A That is correct.

6 Q Which is... what causes that generally? What
7 are we looking for?

8 A You and I both have it sitting at a desk all day.

9 Q Why is that?

10 A It is very common in people who lead sedentary
11 existences and particularly common in women because
12 they are wearing high heels.

13 Q Just the muscle tightens up?

14 A It is the muscles behind the back of your knee.
15 If you try to bend over and touch your toes and can't,
16 why, it is due to hamstring muscle tightness.

17 Q So your conclusion was that he had no nerve
18 problem at all in the lumbar area, is that... ..

19 A Well. ...

20 ...at least the sign didn't indicate any or this
21 test didn't indicate any?

22 A We did multiple tests in our examination to
23 evaluate nerve **function** emanating from the lumbar spine.
24 We found no abnormalities of that nerve function by
25 multiple tests.

Q

1 Okay. So we agree on that that there is no
 2 nervous involvement. N , doctor....if I may, doctor,

3 I would just like to go over a couple of these records
 4 just to ask you some questions, if I can. I am going
 5 to ask you if you would....off the record. Excuse me.

6 OPERATOR: We're off the record.

7 OPERATOR: We're on the record.

8 Q *Would you take a look* If you could please, at the....we have previously
 9 marked this...is this "B"?

10 MR. MCNEAL: The deposition was
 11 to this deposition.

Q

12 Yeah, this is "A". It has previously been marked
 13 as Plaintiff's Deposition "B".....Deposition Exhibit "B"
 14 in another....on another date. But in the record there
 15 is an admission and discharge....I guess this is a
 16 discharge summary briefly. In there I note that

17 Dr. Stiles states that the patient had a fall with a
 18 twisting rotation type injury....he is talking about....
 19 it says right knee, but we agree that it is the left
 20 knee and that that appears to be an error. I think
 21 you mentioned that in your review of this record too
 22 if you recall.

A

23 He makes typo's too.

Q

24 We all do. We all do. But, he describes the
 25 twisting rotational type injury relating to that knee

1 with pain and locking since that time. Removal of
2 loose bodies. A torn medial meniscus. Then he goes
3 on to talk about recurrence of back pain. Now, that
4 is information that is important to know when you are
5 trying to evaluate what happened to him in 1984, isn't
6 it?

7 MR. MCNEAL: What is the date of
8 that report?

9 MR. NICHELSON: 10/22. That is
10 in the hospital admission of
11 10/22/84.

12 MR. MCNEAL: Go ahead.

13 A Give my response...go ahead.

14 Q Yes.

15 A The answer to your question I think is that.. ..I
16 think the answer to your question would be, no, because
17 there are discrepancies between the comments made in
18 the discharge summary covering his admission of 10/22/84
19 to 10/29/84. Those discrepancies exist between this
20 discharge summary.

21 Q And his operative....

22 A ...and the operative report.

23 Q Okay. But, if there aren't any discrepancies
24 then,...I mean...okay....That is the reason why it is
25 not important to know this?

1 A Oh no, I didn't say that.

2 MR. MCNEAL: One or the other
3 is not correct.

4 MR. MICHELSQN: Hold it, please,
5 Mr. McNeal. You'll have your
6 chance.

7 Q I am only asking you, Doctor, if you are looking
8 at that discharge summary, the information that he fell
9 with a twisting rotational type injury to his knee,
10 hac? pain and locking since that event, had removal
11 of loose bodies, and had a torn medial meniscus, that
12 is important to know in evaluating what happend to him
13 on September 13th, 1984, isn't it?

14 A That would be, ..if this were the only record I
15 had.. .

16 Q That would be significant?

17 A ...that would be significant, yes.

18 Q May I have that one? Now, in the same record
19 on October 18th, 1984 there is a note from Dr. Stiles
20 which was included in that record which describes a
21 severe problem, ..

22 A Now, this was before the admission to the hospital?

23 Q That is right. It is a note that he admitted
24 into the hospital record or that he attached to the
25 hospital record saying.. ..again, I assume it's relating

1 to the knee....very unstable, quite painful, loose
 2 bodies, and there is a possibility that one of those
 3 loose bodies moved in and ~~was~~ gotten hung up, and
 4 they will plan the arthroscopy which later took place.
 5 Now, what does it mean when he says loose bodies may
 6 have moved in and gotten hung up? What does that mean?

7 A Well, he is indicating the possibility that the
 8 patient had a loose body in a position of the knee that
 9 did not interfere with motion to the knee. Then....

10 Q Excuse me. Okay, go ahead.

11 A Then subsequently the loose body...it is his....
 12 the possibility again, he doesn't say probability....he
 13 says it is the possibility that the loose body had moved
 14 from the previous position into a position that would
 15 have prevented normal motion of the knee. For example,
 16 if you had a roll on the floor over there in the
 17 middle of the floor, it wouldn't interfere with motion
 18 of the door. But, if you rolled the marble over to
 19 into the door jam you couldn't close the door.

20 Q It would interfere?

21 A It would interfere with the motion of the door.

22 Q Right. And so certainly if there was a traumatic
 23 event creating a loose body and that loose body itself
 24 at that point or very shortly thereafter moved into the
 25 joint, that could be a description of what we have here,

1 isn't it?

2 A description of what we have where?

3 Of what this is describing. That event....that
4 sequence that I just described to you is consistent
5 with this?

6 I'm sorry. I don't know what.....

7 A traumatic event causing....in the knee joint...,
8 or a trauma to the knee which causes a body, a loose
9 body, causes a body to break loose and become a loose
10 body and that moves in and gets hung up, as he is
11 describing here that possibility, that is consistent
12 with his statement, is it not?

13 A Well, you have asked me to assume a lot. You have
14 asked me to assume that he had no loose body previously.
15 You have asked me to assume that a piece of bone in the
16 knee joint is broken off. And, you asked me to assume
17 that that piece of bone in the knee joint has migrated
18 to a position that is interfering with motion of
19 the knee.

20 Q I am not asking you to assume that that happened.
21 I am asking you to assume that that is a possibility
22 given this report.

23 10:08:38 - MR. MCNEAL: Show an
24 objection of possibility again.

25 MR. MICHELSON: I'll withdraw the

1 question.

2 A All right.

3 Q Doctor, the loose bodies that he speaks of that
4 have migrated in and have gotten hung up, there is
5 nothing here that tells you how long that loose body
6 may have been in the knee, is there?

7 A No.

8 Q Okay. Can I have that? Now, in the same hospital
9 record, Doctor, you have....I'll ask you to look on
10 10/22/84, the history that was in that record. This is
11 again the history that Dr. Stiles I assume took of
12 Mr. Milligan. In that history he describes stepping
13 in a hole with a valgus twisting injury to his knee,
14 What is a valgus twisting injury?

15 A Let me read this first. All right. I think
16 I can demonstrate it better than describe it. If....
17 let's assume that we are talking about the right knee.
18 That it is an injury with the knee being rotated in
19 that position.

20 Q Okay. And he goes on to say that he has had
21 severe pain and difficulty at that time, He was seen
22 in the office and aspirated. What does aspirated mean?

23 A It means sucking fluid out of the knee with a
24 syringe.

25 Q Given crutches and that despite the swelling going

1 down he has continued with constant locking, severe
2 medial pain, and inability to bear weight on his knee.
3 Now, all of that statement there, including the twisting
4 injury, that is consistent with a tornif a torn
5 meniscus is found, is it not?

6 A No, because he has left out one vital piece of
7 information,

8 Q What is that?

9 A He hasn't describe2 the character of the fluid
10 that he has aspirated.

11 Q This is the history. This is just the history.
12 I am only asking you about the history.

13 A Well, it is indicated. That should be included
14 in his history. That he aspirated the knee for how
15 many cc's, of what kind of fluid, and how many times.
16 That is the historical. facts.

17 Q Well, how many cc's would you want to aspirate?

18 A I'm not particularly concerned about the cc's ,
19 I would want to know on an historical basis the
20 character of the fluid that he aspirated,

21 Q Okay.

22 A Because it would tell you whether the possibility
23 of a torn cartilage exists.

24 Q Why is that?

25 A If it was bloody and this soon after an injury it

1 would indicate a torn cartilage...the possibility.....
2 the probability of a torn cartilage based on and in
3 addition to other physical findings. If it was normal
4 colored synovial fluid then it would probably indicate
5 the lack of a tear of the medial meniscus.

6 Q What if you find a torn meniscus though when you
7 go in?

8 A What if you find a torn meniscus,...

9 Q In other words, you have this history....

10 A Yeah.

11 Q ...and then you do surgery within a month after
12 the history, or within a few days after the history,
13 and you find a torn meniscus,...

14 A Well, your question. ...

15 Q ...isn't that all consistent with a torn meniscus
16 coming from the injury of the twisting and the fall?

17 A Well, basically you are asking me two things.
18 You are asking me to evaluate just that one piece of
19 paper and now you are throwing in....

20 Q No, I didn't ask you to evaluate it. If you will
21 remember I asked you isn't this important to know this
22 history? Isn't that consistent, this history all by
23 itself, with a torn meniscus injury? That is all I asked.
24 I didn't ask you if it was complete or anything. Is
25 there anything inconsistent with this relating to a torn

1 meniscus injury?

2 I could not make a diagnosis of a torn medial
3 meniscus based on the piece of paper which you just
4 showed me.

5 10:12:31 - MR. MICHELSON: I'm
6 going to object. I am going to
7 say it is not responsive and I
8 am going to ask you the question
9 one more time.

10 Q Doctor, please, is there anything in that history
11 that is inconsistent with a finding of a torn meniscus?

12 MR. MCNEAL: I think he has
13 answered it twice.

14 A Yes.

15 Q What is it?

16 A The lack of the description of the fluid that was
17 aspirated.

18 Q But, the information in there certainly is
19 consistent, right?

20 10:12:53 - MR. MCNEAL: Show an
21 objection to that. It is not
22 consistent.

23 A Well, we can't....

24 MR. MICHELSON: Objection to your
25 discussion.

1 Q Your answer stands?

2 A I think so.

3 Q Okay. Now Doctor, I would like to go for a
4 moment, if I could please, to the operative report which
5 has been referred to and that you described, if you
6 would. Doctor, the operation that is described there
7 is an arthroscopy with removal of loose bodies,
8 debridement of a torn meniscus, and abrasion arthroplasty,
9 is that right?

10 A That is correct, yes.

11 Q Okay. And so in Dr. Stiles' view that was a
12 torn meniscus, is that right?

13 A Let me refresh my memory with regard to the
14 operative note for just a moment.

15 Q No. Excuse me, Doctor, before you do that, all
16 I want to know is that headline says, "Torn meniscus,"
17 doesn't it?

18 A At the top of the page under operation: it does,
19 yes.

20 Q Okay. And you included that in your recitation.
21 of what was there?

22 A I did, yes.

23 Q Yes. Okay. Now.,.,

24 MR. MCNEAL: Now, let him review
25 the record. He just asked....

1

MR. MICHELSON: How do you know that I am going to ask him anymore questions about this.

2

3

4

MR. MCNEAL: Well, you are not being fair to him.

5

6

MR. MICHELSON: Excuse me.

7

Mr. McNeal, let me ask the question,

8

please. The judge can determine

9

whether I am fair or not. If

10

you have an objection, please

11

make it on the record.

12

10:14:42 - MR. MCNEAL: I am, That is what I am doing.

13

14

MR. MICHELSON: Your objection is taken,

15

16

MR. MCNEAL: The doctor said let me review the record for a moment and you said I'll come back to that or....

17

18

19

MR. MICHELSON: That is right.

20

21

MR. MCNEAL: Well, then give him a chance now.

22

23

MR. MICHELSON: I'm going to give him a chance....all the chance he needs. Don't worry about him,

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

He is reviewing it even as you speak, Mr. McNeal. Well done.

Q Now Doctor, are you reviewing the record? Do you want to read the whole thing first, is that the idea?

A Yes.

Q Okay. Why don't you.

A All right.

Q Now Doctor, the report of the operation describing a torn meniscus... description of a torn meniscus, is that correct? Isn't that correct?

A It says, "The lateral meniscus," that is the one on the outside of the knee joint, "which had previously been excised," he had previous surgery there....

Q Yeah.

A "...but had a small area of tearing along its remaining border. It was also debrided."

Q That describes a torn meniscus, does it not?

A It does, yes.

Q All right. And this operative report further describes a partial avulsion of a rather large loose body, isn't that correct?

A It does, yes.

Q And it describes marked condylar flattening, does it not?

1 A Yes.

2 Q All right. Now, certainly a torn meniscus is
3 consistent with a traumatic injury, is it not?

4 A A torn meniscus can be caused by trauma or it
5 can be caused by not trauma.

6 Q And a loose body that is the result of an
7 avulsion can be traumatic in origin, can it not?

8 A From the description of that particular aspect
9 of this operation I would say that is a non-traumatic
10 finding based on my experience.

11 Q Based on your experience?

12 A Yes. I was one of the first doctors in Cleveland
13 to do arthroscopy and so I have had some experience with
14 it.

15 Q Doctor, you have described the word and the
16 term avulsion to mean a tearing away and that you said
17 before, if I recall, it is certainly compatible with
18 trauma?

19 A Right.

20 Q Right?

21 A Right to the....

22 Q That is all I am asking you. Okay?

23 A I think maybe we ought to get some guidelines.

24 IF you...I'll let you ask your questions and not tell
25 you how to ask them if you would let me answer without

1 telling me how to answer.

2 Q No, the guideline is going to be, please, just
3 respond to the questions I ask you.

4 A I would be happy....

5 Q Now, if there are other things to say, if it
6 is appropriate. I am sure. McNeal can ask the appropriate
7 question to elicit that.

8 MR. MCNEAL: If we never get time
9 to do it.

10 A Who determines whether my answers are appropriate?

11 Q The court. Can...it is true, is it not, that
12 a prescription of marked conular flattening and also
13 some of that disruption can be caused by trauma, sn't
14 that true....possible?

15 A Anything under the sun is possible.

16 Q Okay. Now, where in the X-ray report....

17 A Could we go off the record for a moment?

18 OPERATOR: We're off the record.

19 OPERATOR: 're on the record.

20 Q Is there anything in Dr. Stiles' operative report...
21 is there anyplace where he says that any of the injury
22 or any of the materials in this knee is frayed? Does
23 he use the word frayed anywhere?

24 A As I remember, yes. Can you point it out without
25 me having to read it all over again since you asked the

1 question?

2 Q Well, I don't know. I don't think there is any
3 in there.

4 A Oh, okay.

5 Q I am just wondering.

6 A Oh, okay. Let me read this again then. Well,
7 the term chondromalacia indicates fraying. In other
8 words fraying is a finding that permits the diagnosis
9 of chondromalacia and! so one follows the other.

10 Q What does chondromalacia mean?

11 A "Chondro" means cartilage and "malacia" means
12 softening. So a softening of the cartilage.

13 Q It is a softening of the cartilage?

14 A Yes.

15 Q All right. That is what that means.

16 A Well, it also means fraying because when a cartilage
17 becomes soft it frays.

18 Q At what point does it fray?

19 A At what point does it fray?

20 MR. MCNEAL: When it becomes **soft**.

21 A When it becomes soft.

22 Q Or where the material is torn for some reason?

23 A No. Fraying is not tearing. Fraying is a
24 **wearing** away.

25 Q I understand .

1 A So, you know, you fray the collar on your shirt
2 from wear and tear,

3 Q Or a tear if it is loose or not strong anymore?

4 A When you speak...he speaks about "The lateral
5 compartment showed moderate degenerative changes without
6 exposed bone." Now, to make that diagnosis, in all
7 probability he saw fraying of the cartilage because
8 that is the sign or that is the finding that permits
9 you to make that diagnosis.

10 Q He didn't say that though?

11 A Well, he didn't say it, but he implied it. That
12 is the only way you can make that diagnosis.

13 Q And what does that imply; fraying of what? I'm
14 sorry. Where is that? I apologize, but I... .

15 A In approximately the middle of the paragraph
16 it says, "Lateral compartment showed moderate degenerative
17 changes without exposed bone." By definition in my
18 30 years in orthopaedics and having done arthroscopy,
19 moderate degenerative changes are indicated by the
20 finding of fraying of cartilage during an arthroscopy.

21 Q I see. And which cartilage is that, do we know?

22 A Well, it is called the lateral compartment. He
23 doesn't indicate...that is on the outside of the knee
24 between the tibia and femur, but he doesn't indicate
25 where the degenerative changes were occurring; whether

1 on the surface of the tibia or on the surface on the
2 femur .

3 Q I see.

4 A He doesn't indicate that.

5 Q All right. And is that the meniscus?

6 A No.

7 Q No, okay.

8 A It is the articular surface where the two bones
9 come together.

10 Q All right.

11 A The rubbing surfaces of the bone.

12 Q So in that operative report there is some
13 information that you feel is really another way of
14 saying that there was some fraying of the area you
15 just described, and that is what you mean when you
16 say fraying?

17 A In my reading of the operative note, I believe
18 he found fraying of the articular cartilage within
19 the interior of the knee joint in some areas,

20 Q Okay. Well, the area you just described because
21 that is where he said he was degenerative?

22 Well, there are other degenerative changes in
23 that operative note,

24 Q Yes, but you just pointed out that there were
25 moderate degenerative changes without exposed bone and

1 that leads you to the conclusion that that is frayed
2 material?

3 A In that area.

4 Q Okay.

5 A There were others,

6 Q Where else is there a frayed area?

7 A Well, he has never used the term fray....

8 Q Where else?

9 Ain his operative note. I don't need the
10 operative note. He also indicates from the context of
11 the operative note, an orthopaedic surgeon would come
12 to the conclusion that there was also fraying of the
13 cartilage behind the kneecap on the articular surface
14 of the kneecap.

15 Q Of the patella~~X~~?

16 A Yes.

17 Q Okay. Anyplace else?

18 A No. He had such severe wear and tear changes
19 in the medial compartment of the knee joint that he
20 had complete loss of the cartilage over the medial
21 femoral condyle. So rather than fraying, the early stage
22 of chondromalacia, he had worn the cartilage clear off
23 the medial femoral condyle which takes years to do.

24 Q All **right**. Okay. I'm sorry, Doctor. It is
25 a little awkward here and I apologize for the hang up.

1 I'll try not to be too long. Now Doctor, again I
2 want to go, if we can, and this will be I think more
3 brief, concerning the May 22nd admission.. ..May 21st
4 admission which you have described in there. If you
5 would, would you take a look at this? This is part of
6 it. This is again the physical examination....I'm sorry.,
7 that is not the physical examination. That is the
8 history, right?

9 A Well, it is the admission history, yes.

10 Q Right. And the doctor describes an X-ray view
11 of a calcified mass which you have referred to in your
12 report in the medial border of the tibia which appears to
13 be fractured in its mid portion, correct?

14 A Correct.

15 Q Okay. Now, is that calcified mass, is that the
16 same as an osteophyte or a bony mass?

17 A I can't tell by that description.

18 Q Okay. So,...

19 A It could be either,

20 Q It could be either. Okay. Either what?

21 A It could be a calcified mass, it could be a loose
22 body, and it could be calcification within the ligament,
23 From that standpoint I would have to say that I don't
24 know what **it was**. I would have to see the X-rays,

25 Q Okay.

1 A That is I think the best I can do.

2 Q That is fair. And Doctor, also we have here
3 in the same hospital admission a physical where the
4 doctor came to a conclusion that there was a fracture
5 of the bony projection of the medial tibial plateau
6 beneath the tendons and internal derangement of the
7 knee. We can assume that the fracture.. ..the conclusion
8 of the fracture was from the X-ray report?

9 A Well, first of all, you asked me about the
10 physical examination and then you quote the diagnosis
11 or impression. Which are you asking me....

12 Q I'm sorry.

13 Aabout the physical examination or the
14 impression?

15 Q I meant,...I only used the physical as an
16 identifier because on the top you will notice there
17 is an "X" where it says physical,

18 A Uh-huh ,

19 Q And that is all I meant. I didn't say it is
20 the physical examination, But, his impression was of
21 a fracture of the bony projection of the medial
22 tibial plateau?

23 A That was his diagnosis **based** on the history and
24 physical examination, yes.

25 Q Okay, And can we then....is it fair to say that

1 that bony projection is the calcified mass that he
2 referred to in the medial border of the tibia?

3 A Yes, I would have to assume that by not being
4 there.

5 Q That I understand. Now, I just want to briefly
6 go to the operative report, Doctor, again. Now, the
7 post operative diagnosis. which means that that is the
8 conclusions that Dr. Stiles or any orthopaedic surgeon
9 draws after the operation I presume?

10 A The post operative diagnosis, yes.

11 Q Right. And in there he talks about the fracture
12 of the bony projection from the tibial condyle, right?

13 A Right.

14 Q Okay. And within the procedure aspect itself,
15 down towards the lower third, he talks about a fracture
16 in the osteophyte which I am assuming is the bony
17 projection, is that fair?

18 A Do you have a copy of the X-ray report and we
19 won't have to do any assumptions?

20 Q No, I don't. i have what I have, I don't have
21 one, I'm sorry.

22 A Well, we are making, I think at least in my
23 medical opinion, assumptions that don't have to be made
24 **because** a review of the X-rays might change them from, . . .
25 change **it** from an assumption to a fact.

- 1 Q Okay. 11, we know that Dr. Stilwell...
- 2 A So we have to say as far as this operative note
- 3 is concerned that Dr. Stilwell says what he says.
- 4 Q And he says there is a fracture in the osteophyte
- 5 which he refers to... there was a fracture in the
- 6 osteophyte extending from the tibia down into the pes
- 7 tarsa and the fractured portion was removed, right?
- 8 A He says that, yes.
- 9 Q Okay. And so his post operative diagnosis,
- 10 his impression from the physical examination, the history
- 11 of his present illness, describing the X-rays, his
- 12 impression based upon his physical examination, and
- 13 the operative note with which he was... where he actually
- 14 saw the tissue, describes a fracture, is that correct?
- 15 A That is correct. That is what the records
- 16 indicate.
- 17 Q Okay. Thank you. Off the record one second,
- 18 please?
- 19 OPERATOR: We're off the record.
- 20 OPERATOR: We're on the record.
- 21 Q I have nothing further at this time. Thank you.
- 22 DURING REDIRECT EXAMINATION BY MR. HARLEY MCNEAL:
- 23 Q Just two questions. What is an osteophyte?
- 24 A An osteophyte is a projection of...it is a little
- 25 horn on a bone. They generally occur around joints,

1 although they can occur along the shaft of the bone.
2 There are multiple causes of osteophytes. They can be,
3 one, a process of aging, Two, they can result from
4 previous trauma of a particular type around varying
5 joints. They can be developmental. The patient can
6 be born with this predisposition of their formation
7 during the growth years prior to the bony maturation
8 at the age of puberty. But, an osteophyte is a projection
9 from the bone.

10 Q Is that disabling in any manner?

11 A It depends on where the osteophyte occurs,

12 Q In this case.. . .

13 A It can produce symptoms.

14 Q In this case would it be the cause of any
15 disability?

16 10:31:46 - MR. MICHELSON: Objection

17 A From the description of the osteophyte as I know
18 it from reviewing the records, it would not be one that
19 would cause dysfunction of the knee joint.

20 Q Fine. Now, the last question. The statement
21 has been made by counsel that the hole episode is
22 consistent with a valgus twisting of the foot or ankle,
23 I would assume. The plaintiff has testified that
24 the hole was approximately 8 to 10 inches deep. That
25 he had his foot in the hole 6 to 8 seconds and he took

1 his left foot out quickly. As you know he did not
2 fall down. He broke his fall with his hands. Under
3 those circumstances, does that....the testimony of
4 the plaintiff, is that consistent with a valgus twisting
5 as described and repeated by Dr. Sipes?

6 10:32:56 - MR. MICHELSON:

7 Objection. You can answer.

8 A I think you are asking me what is the significance
9 of a valgus twisting injury with.....

10 Q Related to the history as he has....

11 A ,,,related to the history and related to the
12 subsequent pathology found within the knee, is that
13 the question?

14 Q Exactly. Exactly that,

15 10:33:23 - MR. MICHELSON: Objection.

16 Q Exactly that.

17 A All right. Let's stand up for a moment. There
18 are two mechanisms of injury that cause damage tears
19 to cartilages of the knee. The one is a valgus twisting
20 injury to the knee in that fashion, This could occur
21 under the circumstance....in other words, a valgus
22 twist of a knee stepping into a hole can occur, There
23 is another mechanism of injury in which....(VO)

24 10:34:04 - MR. MICHELSON: Objection.

25 I think the question has been

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

answered.

MR. MCNEAL: No, he has not.

He said there are two situations.

MR. MICHELSON: But, the question has been answered and the question was, . . .

MR. MCNEAL: Well, that is your opinion.

MR. MICHELSON: The question was, was the history as given by Mr. Milligan in his deposition consistent with a valgus twisting injury as described.

MR. MCNEAL: He hasn't answered....

MR. MICHELSON: And the answer was, yes.

MR. MCNEAL: No, he hasn't answered that.

DR. HOFFMAN: There **was** one other item that **I** put in as it relates to the pathology found in his knee,

MR. MICHELSON: That wasn't the question, You put it in.

DR. HOFFMAN: *Yes*, **I** did. **I** added that as **a** final.

1 MR. MICHELSUN: I agree.

2 DR. HOFFMAN: That was...there
3 were three items.

4 MR. MICHELSON: Therefore, I am
5 objecting. The question has been
6 answered.

7 Q That goes with the history that I gave you, isn't
8 that correct?

9 A That is correct. Now, that kind of an injury
10 or that kind of a mechanism of injury causes a tear of
11 the medial meniscus. It does not cause a tear of the
12 lateral meniscus. In the operative note with regard to
13 a torn meniscus the tear was found in the lateral
14 meniscus. A valgus rotational strain to the knee causes
15 a tear of the medial meniscus and not the lateral
16 meniscus. A tear of the lateral meniscus is produced
17 by a varus rotational strain, So that the patient's
18 description of the mechanism of injury of his knee
19 does not fit the pathologic findings that were found
20 at the time of the arthroscopy inside the knee.

21 Q *END* And are not consistent with his description as
22 the...

23 10:36:38

24 Qthe length of time the foot was in the
25 hole, withdrawn, and without any fall down?

1 10:36:48 - MR. MICHELSON: Objection.

2 Q Is that correct?

3 10:36:49-MR. MICHELSON: Objection.

4 A The length of time in the hole has nothing to
5 do with what might have gone on with the knee. My
6 comment is is that the description of injury by the
7 patient does not fit the description of findings at
8 the time of the arthroscopy when the torn lateral
9 meniscus was found.

10 Q Fine. Thank you very much.

11 MR. MICHELSON: Now, before we go
12 off the record I would like the
13 X-rays marked, please, and made
14 a part of the deposition.

15 DR. HOFFMAN: Okay.

16 MR. MICHELSON: I would like to
17 take them because I may want to have
18 them looked at. Can we mark these
19 please?

20 OPERATOR: Sure. Doctor, you have
21 the right to review this tape or
22 you may waive that right?

2.3 DR. HOFFMAN: I waive the right.

24 OPERATOR: And will counsel waive
25 filling allowing us to be custodian

1 until the time of trial?

2 MR. MICHELSON: Sure.

3 MR. MCNEAL: I do.

4 OPERATOR: We're off the record.

5 END OF THE TESTIMONY AS GIVEN BY DR. BYRON HOFFMAN.

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

STATE OF OHIO)	
) SS:	IN THE COURT OF COMMON PLEAS
CUYAHBGA COUNTY)	
DONALD B. MILLIGAN,)	CASE NO. 1 6333
)	
PLAINTIFF,)	VIDEOTAPE DEPOSITION
)	
VS.)	OF
)	
CSX,)	<u>DR. BYRON HOFFMAN</u>
)	
DEFENDANT.)	JUDGE

C E R T I F I C A T I O N

I, Tim Palcho, a Notary Public within and for the State of Ohio, do hereby certify that the within named witness, Dr. Byron Hoffman, was by me first duly sworn to testify to the truth, the whole truth, and nothing but the truth, in the cause aforesaid.

I further certify that the testimony then given by him was transcribed to typewritten form and that the foregoing is a true and correct transcription of the testimony so given by him as aforesaid.

I do further certify that I am not counsel for or related to any of the parties involved in this action nor am I interested in the outcome of this matter. Also I am an independent videotape reporter employed on an as needed basis and not in the employ on a regular or full time basis of any of the parties involved in the aforesaid litigation.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office to attest these fact to be true at Kent, Ohio on this _____day of April, 1988.

My Commission Expires:
August 23, 1990.

Tim Palcho Notary Public
and Videotape Reporter