

TRANSCRIPT TRANSMITTAL MEMORANDUM

DATE: February 6, 1998
TO: Donna Taylor-Kolis, Esq.
FROM: Maxine D. Marshall

CASE NAME: Michelle Mack
v.
University Hospital Health System,
Inc.

NAME OF WITNESS: Robert s a Holzman, M.D.

DATE TAKEN: January 29, 1998

Dear Ms. Kolis:

Enclosed please find your copy of the transcript of the deposition given by Dr. Holzman in the above-entitled matter on Thursday, January 29, 1998.

Would you please have Dr. Holzman read and sign his deposition before any notary, if required. If there are any suggested corrections, please have him make them on the errata sheet provided. It should be noted that no changes may be made to the transcript.

The errata/signature sheet should then be forwarded to Mr. Groedel for inclusion in the original transcript, retaining a copy for your records.

Thank you for your cooperation in this matter,

Very truly yours,

Maxine D. Marshall
Maxine D. Marshall

Enc .

Cc: Marc W. Groedel, Esq.

ATTACH TO DEPOSITION OF: Robert S. Holzman, M.D.

CASE Michelle Mack,
v.
University Hospital Health System, Inc.

ERRATA SHEET

INSTRUCTIONS: After reading the transcript of your deposition please note any change or correction to your testimony and the reason therefor on this sheet. DO NOT make any marks or notations on the transcript volume itself. Please sign and date this errata sheet (before a Notary Public, if required).

<u>PAGE</u>	<u>LINE</u>	<u>CHANGE OR CORRECTION AND REASON</u>
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	
/	/	

I have read the foregoing transcript of my testimony taken on Thursday, January 29, 1998, and except for any corrections or changes noted above, I hereby subscribe to the transcript as an accurate record of the statements made by me.

(Signature of Deponent)

SWORN TO AND SUBSCRIBED BEFORE ME
THIS _____ DAY OF _____,
_____, AT _____.

MY COMMISSION EXPIRES: _____

VOLUME: I
PAGES: 1 - 82
EXHIBITS: (3)

THE STATE OF OHIO
COUNTY OF CUYAHOGA
IN THE COURT OF COMMON PLEAS

MICHELLE MACK, Administratrix
of the Estate of JENNIFER MACK,
Plaintiff,
v.) Civil Action
) No. 322444

UNIVERSITY HOSPITAL HEALTH
SYSTEM, INC., et al.,
Defendants.

DEPOSITION of ROBERT S. HOLZMAN,
M.D., taken on behalf of the Defendant, pursuant to
the applicable provisions of the Ohio Rules of
Civil Procedure, before Maxine D. Marshall,
Certified Shorthand Reporter and Notary Public in
and for the Commonwealth of Massachusetts, at the
Children's Hospital, 300 Longwood Avenue, Boston,
Massachusetts, on Thursday, January 29, 1998,
commencing at 10:20 a.m.

PRESENT:

DONNA TAYLOR-KOLIS, ESQ.
330 Standard Building
Cleveland, Ohio 44113
for the Plaintiff

REMINGER & REMINGER
Marc W. Groedel, Esq.
The 113 Saint Clair Building
Cleveland, Ohio 44114
for: the Defendant

FRITZ & SHEEHAN ASSOCIATES, INC.
295 Devonshire Street, Boston, MA 02110
(617) 423-0500

I N D E X

WITNESS: DIRECT CROSS REDIRECT RECROSS

Robert S. Holzman

By Mr. Groedel 3

E X H I B I T 5

NO. PAGE

DESCRIPTION

1 3 Letter to Taylor-Kolis from Holzman,
1/5/97, three pages

2 3 Critical Fact Index-Dep. Rev, two
pages

3 3 Questions to (potentially) be
addressed during the depositions,
two pages

P R O C E E D I N G S

(Exhibit Nos. 1, 2 and 3 marked for
identification,)

ROBERT S. HOLZMAN, M.D.

A witness called for examination by counsel for the
Defendant, being first duly sworn, was examined and
testified as follows:

DIRECT EXAMINATION

BY MR. GROEDEL:

Q. Dr. Holzman, we've met. My name is Marc
Groedel and I'm here to take your deposition
because you have been identified as an expert
witness in this case. Have you ever been deposed
before?

A. I have not been deposed before,

Q. Okay. I'm going to ask you questions
pertaining to yourself, your background, and your
review of this case. It's important for you to
give me answers in an audible fashion; try to say
yes, no. Don't nod your head, shrug your shoulders
or say uh-huh or uh-uh because that way we may not
be clear as to exactly what your response is when
we read the transcript, Okay?

A, I understand.

Q. Also, if you need to take a break for any
2 reason, let me know and I'm sure we can accommodate
3 you. If you need to answer a beeper or whatever,
4 it's not a problem,

5 Donna has provided me with a copy of
6 your CV, and prior to the deposition you indicated
7 that for all practical purposes it's up to date,
8 And this is your CV dated July 23, 1997?

9 A, That's correct,

P0 Q. While we're talking about your CV, can
11 you tell me whether or not there are any writings
12 in there by you that you would deem pertinent to
13 the issues of this case?

14 A. Just for the sake of completeness, may I
15 reviewed it so I can be more precise? There are
16 some that I can recall offhand would be pertinent,
17 but let me just check to be sure.

18 There are several, some of which you
19 have indicated here as highlights. I would say in
20 particular the Crisis Management Anesthesiology
21 book that was edited by Gaba; the review article
22 that appeared in the Pediatric Clinics of North
23 America; a review article. There are others that
24 are tangentially related, but I would say they are

1 probably not directly related.

2 Q. But the ones that you've previously
3 identified you would consider directly related?

4 A. I would say that there are aspects within
5 those articles that touch on similar issues.

6 Q. Anything else in there?

7 A. Nothing else that would be directly
8 related.

9 Q. Okay, thanks. Describe for me your
10 current practice?

11 A. I'm a full-time pediatric
12 anesthesiologist at Children's Hospital, on
13 full-time faculty at Harvard Medical School.

14 My clinical practice consists
15 entirely of the practice of pediatric
16 anesthesiology in the operating room at Children's
17 Hospital.

18 Q. What percentage of your time do you spend
19 providing anesthesia services versus anything else
20 you might do?

21 A. 60 percent of my time, three days a week,
22 is devoted to clinical services in the operating
23 room, and 40 percent of my time is devoted to
24 non-clinical services where I am a member of

1 various committees. I have time for research, for
2 writing, for activities of the medical school and
3 for various other administrative duties that I have
4 at this point in my career.

5 Q. And you're certified in what specialties?

6 A. Anesthesiology.

7 Q. Board-certified?

8 A. Board-certified in anesthesiology.

9 Q. To become a pediatric anesthesiologist,
10 one does not need to be certified in pediatrics
11 beforehand or afterwards?

12 A. That's not required.

13 Q. Okay. Is there some sort of added
14 certificate of requirements that you have obtained
15 in your subspecialty field?

16 A. At this point there's no recognized
17 subspecialty certification. What there is by
18 general agreement is experience in a pediatric
19 anesthesia fellowship at a recognized fellowship
20 training center. My pediatric anesthesia
21 fellowship was done at Children's Hospital National
22 Medical Center in Washington, D.C., and that's
23 indicated on my CV.

24 Q. Right. And your hospital privileges are

1 also indicated on your CV as well?

2 A. That's not ordinarily a part of the
3 organization of the CV at Harvard. So my hospital
4 privileges are active staff; is that what you
5 mean?

6 Q. Where are they at, your hospital
7 privileges?

8 A. You have to clarify.

9 Q. At what hospitals do you have
10 privileges?

11 A. This hospital, Children's Hospital. Some
12 of our consulting hospitals where pediatric
13 patients are given care, such as Brigham and
14 Women's Hospital and Beth Israel Hospital, and in
15 addition I have privileges at several community
16 hospitals in the outlying area.

17 Q. Since the completion of your training,
18 have you ever worked in an emergency room?

19 A. I have never worked in an emergency room.

20 Q. Did you work in an emergency room while
21 you were in training?

22 A. As part of my internship I had rotation
23 responsibilities at the emergency room where I did
24 my internship.

1 Q. So you probably spent about a month or so
2 in the ER?

3 A. My recollection is about a month in the
4 emergency room, and of course anesthesiologists
5 provide services in emergency rooms on a
6 consultation basis,

7 Q. Have you ever been employed as a house
8 officer in a similar position that Dr. Irefin was
9 in at the time in question?

10 A, As a moonlighter,

11 Q. Yes.

12 A. Yes.

13 Q. When was that?

14 A, That has been in the past, and in fact it
15 continues now. That's why I continue to hold some
16 privileges at community hospitals in the
17 surrounding area, because I do provide such
18 services even through the present time.

19 Q. What sort of services do you provide?

20 A. Anesthesiology services.

21 Q. Is that to provide services as an
22 anesthesiologist for an operation?

23 A, Yes.

24 Q. In your experience as a pediatric

1 anesthesiologist, have you ever been required to
2 perform an emergency intubation of a child?

3 A. Yes.

4 Q. Approximately how many times would you
5 say you've had to do that in your career?

6 A. It would be safe to say thousands.

7 Q. Generally speaking, what are the types of
8 things in your experience that have required you to
9 perform emergency intubations?

10 A. One would have to distinguish between
11 several situations where that might be required.
12 There are emergency intubations, for example, in
13 the operating room where one would have to take
14 care of patients that couldn't be intubated by
15 somebody else. And they may be children who are
16 not in distress but may simply have a difficult
17 airway requiring more expertise, more familiarity
18 with the airway and greater experience.

19 Other situations, for example, as a
20 member of the code team would require my presence
21 and expertise at sites outside of the operating
22 room, and children who are in medical distress,
23 respiratory distress in a code situation would
24 require securing of the airway with an endotracheal

1 tube.

2 So I would say that it would probably
3 be situation-dependent.

4 Q. Have you ever been called to emergently
5 intubate a child in an emergency room setting such
6 as what we had here?

7 A. Yes .

8 Q. Approximately how many times has that
9 happened in your career?

10 A. It would be difficult to put a number on
11 that. It could be a thousand. It could be a
12 little bit less. It could very easily have been
13 more .

14 Q. And I assume that of those thousand or so
15 cases, there were some situations where you had to
16 intubate a child because, among other things, he or
17 she was suffering from severe stridor?

18 A. Yes.

19 Q. Or other signs of respiratory distress?

20 A. Yes.

21 Q. In your career have you ever had a
22 situation where you were unable to successfully
23 intubate a child and as a result the child required
24 a surgical airway?

1 A. No, I have not had that.

2 Q. Does the inability to intubate a child
3 and the need for a surgical airway necessarily mean
4 that the intubation was carried out in a
5 substandard fashion?

6 A. Could you repeat that?

7 *a.* Sure. If you have a situation where a
8 physician has been unable to complete an oral
9 intubation successfully and as a result has
10 required a surgical airway to be established, does
11 that in and of itself mean that there was
12 substandard care in the intubation attempts?

13 A. No.

14 Q. So even though it hasn't happened to you,
15 you can envision a scenario where someone would be
16 unable to complete an oral intubation successfully
17 and they would have to go on to do a surgical
18 airway?

19 A. Absolutely, yes. And there are a variety
20 of specific circumstances where that might be the
21 case.

22 Q. What would they be?

23 A. For example, there are patients **who have**
24 extremely difficult airways because of anatomic

1 abnormalities. The mouth may not be able to be
2 opened easily. They may have abnormalities of the
3 jaw. A conventional laryngoscopy might not be able
4 to be easily accomplished, and there may be
5 circumstances where the appropriate equipment may
6 not be readily available as it might be in other
7 situations where having planned for an elective
8 difficult airway one would be prepared with
9 specific equipment or specific consultants who
10 could use that equipment in an expert fashion.

11 On the other hand, there are
12 alternatives that are commonly available even if
13 one can't intubate to go ahead and provide adequate
14 oxygenation and ventilation while a surgical airway
15 is being planned and equipment for surgical access
16 is being gathered.

17 Q. What are those?

18 A. There are other airway devices as
19 alternatives, including conventional bag mask valve
20 ventilation. There are laryngeal masks that are
21 available as airways and there are a variety of
22 alternative pieces of equipment that can be used
23 **even if one can't use a conventional laryngoscope.**

24 Q. You mentioned anatomic abnormalities as

1 one potential reason why one wouldn't be able to
2 intubate a child. What other reasons are there
3 generally speaking that might prevent somebody from
4 successfully completing an intubation?

5 A. It's conceivable that experience might be
6 a factor as well, And I think that in those
7 circumstances it might be -- if one was in a
8 situation that was less familiar by virtue of
9 experience or training, then it might be harder to
10 know when one has achieved a successful end point.

11 My guess is that those circumstances
12 are probably relatively rare, Since by training
13 through, residency training in anesthesia one gets
14 exposed at least for several months to pediatric
15 anesthesia, for example.

16 Q. Do you perform cricothyrotomies or
17 emergency tracheostomies?

18 A. I don't perform them. I'm familiar with
19 the anatomy. I'm familiar with what I would have to
20 do should it be required. Fortunately I've never
21 had to perform that.

22 Q. You mentioned before the deposition that
23 you reviewed the records from Bedford Medical
24 Center, the records from the University Hospitals

1 covering the terminal admission, the chest x-ray
2 and the depositions of Nurse Sharp, Dr. Irefin and
3 Dr. Douglas. I think that's everything that you
4 reviewed?

5 A. Yes .

6 Q. I take it you didn't review any
7 literature, medical literature, specifically for
8 this case?

9 A. No, I didn't.

10 Q. I take it that reviewing medical
11 malpractice cases is something that you do
12 relatively infrequently?

13 A. I do relatively infrequently. Probably
14 not more than two a year.

15 A. Before this case, had you ever reviewed
16 any other cases for Ms. Kolis?

17 A. NO .

18 Q. How did she come to find you to review
19 this case?

20 A. It was through one of the
21 neuroradiologists here at Children's.

22 Q. Who referred her to you.

23 A. Yes .

24 Q. Have you ever testified in a case as a

1 medical malpractice expert either by way of
2 deposition or in trial?

3 A, No,

4 Q. So this is the first time you've given a
5 deposition as an expert,

6 A. Yes,

7 Q. I'm going to hand you what we've had
8 marked as Holzman Exhibit 1, and for the record can
9 you identify that for us, please?

10 A. Yes,

11 Q. What is it?

12 A, It's a letter that I wrote to Ms. Kolis
13 dated January 5th, summarizing my initial
14 impressions from my review of the initial materials
15 that were made available to me from this case,

16 Q. What materials were those?

17 A, I'll read back from the first paragraph.

18 Q. Okay. It says in the letter, okay,

19 A, Yes, The materials included the
20 emergency room records from University Hospital
21 Bedford Medical Center, a chest x-ray film taken at
22 UH-Bedford, the University Hospitals of Cleveland
23 admission records, and the UH-Bedford emergency
24 room records provided by the parents,

1 Q. And Holzman Exhibit No. 2 is what?

2 A. That's a list that I make for myself when
3 I review the materials. It's a working list that
4 helps me organize my thoughts based on the
5 sequencing of materials that I read that are made
6 available to me. In this particular instance these
7 were the three depositions of Dr. Irefin, Mr. Sharp
8 and Dr. Douglas.

9 Q. And Holzman Exhibit 3 is what?

10 A. This was a series of questions that I had
11 about the materials that I reviewed.

12 Q. There's red handwritten notes on that
13 exhibit; whose writing is that?

14 A. That's my handwriting.

15 Q. All right. Can I have those back? Thank
16 you.

17 How would you characterize Jennifer
18 Mack's condition when she was first brought to the
19 emergency room?

20 A. From my review of the records, her signs
21 and symptoms seemed compatible with upper airway
22 obstruction. She presented with signs and symptoms
23 of respiratory distress and biphasic stridor.

24 Q. Would you characterize her condition as

1 that of severe respiratory distress at the time of
2 admission?

3 A, I would say she was in severe respiratory
4 distress. Her vital signs were compatible with
5 that and her physical exam was compatible with
6 that.

7 Q. And is it your belief that this distress
8 was being caused by an upper airway obstruction?

9 A. Her signs and symptoms were compatible
10 with that, yes.

11 Q. And in her case what was causing the
12 upper airway obstruction?

13 A. You would formulate a differential
14 diagnosis based on her presentation. She certainly
15 had by her history a history of prior croup, and
16 this seemed perfectly compatible with croup. Other
17 considerations would have been epiglottitis,
18 foreign body obstruction, some other form of
19 extrinsic airway compression. But it was certainly
20 compatible with croup.

21 Q. Based upon the subsequent records from UH
22 that you've looked at, would you be able to rule
23 out the other diagnoses and come to a probable
24 diagnosis of severe croup in this case?

1 A. Yes. And in fact I think that you could
2 come to that as the most likely diagnosis based on
3 her history and based on the sequencing of events
4 in her treatment within the first half hour of
5 arrival.

6 Q. Is severe croup a potentially
7 life-threatening problem?

8 A, Yes.

9 Q. Why is that?

10 A. There are several reasons, The first
11 reason, of course, is that if there's complete
12 airway obstruction, then that's a life-threatening
13 problem altogether,

14 The second reason is that there is in
15 the underlying pathophysiology of croup the
16 question of why it occurred in the first place. It
17 could, for example, accompany a viral illness, and
18 that viral illness could cause both upper airway
19 obstruction as well as pulmonary dysfunction or
20 lung dysfunction making it more difficult for a
21 patient to oxygenate and ventilate. So there could
22 be both lung disease as well as tracheal disease or
23 subglottic disease.

24 The third problem that can occur with

1 croup is as a consequence of the mechanics of
2 breathing, in order to breathe against a very tight
3 airway, for example, if one has to -- one can
4 imagine, for example, being choked and having a
5 great deal of difficulty inspiring. That work of
6 breathing in order to generate a negative pressure
7 within the chest sufficient for someone to
8 ventilate their own lungs can cause pneumothorax or
9 lung collapse as well as pneumomediastinum or
10 collapse around the great vessels and cardiac
11 structures.

12 So that in fact severe croup is a
13 very different disease entity from the mild barky
14 croup that many parents are familiar with with
15 their own children.

16 Q. And in your opinion this was a case of
17 severe croup,

18 A, In my opinion this was a case of severe
19 croup.

20 Q. And I don't think I asked you this: Can
21 you define for the record what severe croup is?

22 A. There are a number of ways to define
23 that. Let me begin by the pathophysiology of what
24 croup is.

1 If one could take a look at the
2 trachea of the patient who has croup, the trachea
3 is a cartilaginous structure that has a basement
4 membrane and a lining of mucosa around it. So if
5 one imagines a tube with several layers of lining
6 within it, that lining can either be applied more
7 tightly or more loosely. The mucosa, when it's
8 applied very tightly, doesn't dislodge. And just
9 for purposes of elucidating for the record, one can
10 imagine two surfaces sliding over each other. That
11 can either be tighter or looser.

12 The looser a basement membrane is the
13 more susceptible it is to swelling, edema, as a
14 result of either traumatic injury or bacterial or
15 viral injury.

16 There are populations of patients,
17 most particularly children, who have loose basement
18 membranes, and they may in fact be born with that
19 or may be a consequence of early intervention in
20 life, for example, intubation in ex-premature
21 babies.

22 Regardless of how one has a loose
23 basement membrane, if you have a cold or an upper
24 respiratory tract infection, if you're such a

1 patient you can easily develop croup, And there
2 are children who have chronic croup who generally
3 tend to outgrow it as they age by the time they may
4 be six, seven, eight years of age because that
5 basement membrane will become more tightly
6 applied,

7 So croup pathologically is an
8 abnormality of the mucosa, mucosal lining of the
9 trachea,

10 Symptomatically what happens is that
11 as a patient develops the barky cough, if the edema
12 and swelling of that mucosa swells to the point
13 where it significantly impairs air flow, then that
14 air flow will become more turbulent, and the
15 turbulence of the air flow will create biphasic
16 stridor, inspiratory stridor and expiratory
17 stridor, That, in turn, will create more
18 difficulty for the work of breathing. So that
19 small children will consume more energy, will have
20 to breathe faster, will develop respiratory
21 distress, And **as** they develop respiratory
22 distress, the efficiency of their breathing
23 decreases dramatically.

24 As they become more anxious, the

1 process will worsen and it develops into a spiral
2 of worsening respiratory distress.

3 Many times if children can relax and
4 slow their breathing, interestingly enough their
5 breathing will become more efficient because they
6 are not generating as much turbulence across a
7 narrowed segment of their airway. However, most
8 children can't control that, so they become more
9 anxious, it becomes more difficult for them to
10 breathe and they require some therapeutic
11 intervention.

12 Q. The records indicate that when Jennifer
13 Mack was brought to the emergency room, she was
14 very agitated; you're aware of that?

15 A. Yes.

16 Q. Would it be fair to state that that
17 agitation was probably due to her being hypoxic at
18 that time?

19 A. That would be one mechanism of helping to
20 explain it. In the largest context it is probably
21 explicable by hypoxia as well as agitation as well
22 as the work of breathing as well as fear. So I
23 think that there's a constellation of problems that
24 combines to form the clinical picture, but it's

1 certainly compatible with a child in respiratory
2 distress,

3 Q. Are you able to tell us what amount of
4 hypoxia Jennifer had sustained by the time she
5 reached the emergency room?

6 A, From my review of the records it states
7 that her saturations measured by pulse oximetry
8 were in the 80s. It also states that it was
9 difficult to obtain those saturations in several
10 places. And I can easily envision that it probably
11 was. She was probably very agitated. It was
12 probably very difficult to keep a pulse oximeter
13 probe on her. But from the record it's indicated
14 that her saturations were in the 80s.

15 Q. Which would be indicative of what?

16 A, That would be indicative of hypoxia.

17 Q. Mild? Moderate? Severe?

18 A, That would be moderate hypoxia.

19 Q. There's some reference in the record to
20 Jennifer being cyanotic upon admission. Would that
21 be indicative of some degree of hypoxia as well?

22 A. That would be indicative of hypoxia as
23 well. And I think, if I recall correctly, the
24 description was a description of perioral

1 cyanosis. I don't recall seeing a description of
2 nail bed cyanosis or skin cyanosis. So from that
3 description and from the physical findings and the
4 saturation findings I would put together a portrait
5 of a child who was in moderate to severe
6 respiratory distress at that point.

7 Q. With moderate to severe hypoxia?

8 A. I would say with moderate to severe
9 hypoxia. It's a continuum. It's a spectrum. So
10 again, looking at the large picture, looking at the
11 vital signs, looking at the respiratory rate, it
12 would put together for me a picture of moderate to
13 severe respiratory distress.

14 Q. The child's temperature was noted to be
15 at 95.6; does that have any significance to you in
16 this setting?

17 A. I think it's very difficult to use that
18 as a corroborating portion of the diagnosis. I'm
19 not sure where that temperature was taken,

20 Q. There is a "T" next to it; what would
21 that mean? I didn't know.

22 A. It's a T. Perhaps tympanic membrane. An
23 **ear thermometer**, I think it would be very
24 difficult to know how to put that together with

1 this picture. And I'm in my mind not sure that it's
2 material to the sequencing of events.

3 Q. I didn't think it was. I was just
4 wondering what you thought too.

5 A. I don't find it so.

6 Q. Her blood pressure was 90 over 60 on
7 admission. Does that have any significance to you?

8 A. Not particularly. I think that that's a
9 blood pressure that's a little high for that age.
10 Certainly compatible with the distress that she was
11 experiencing; and in fact, I'm surprised that it
12 was actually that low.

13 Q. Initially she was treated with racemic
14 epinephrine; do you agree with that course of
15 treatment?

16 A. Yes, that would be the initial treatment
17 that I think most people would undertake with the
18 diagnosis of croup.

19 Q. And does it appear as though that
20 treatment did not work?

21 A. It appears that way. And in fact, in
22 some portion of the record, I don't remember
23 exactly where, it was stated that that was
24 ineffective as an intervention.

1 It seems to me that there are a
2 variety of reasons why it could have been
3 ineffective, including perhaps the patient's mental
4 state and inability to cooperate at that point in
5 time .

6 Treatment with racemic epinephrine in
7 an agitated five-year-old would be difficult in an
8 uncooperative patient. So it's not surprising to
9 me that it may not have been effective. But it may
10 have been ineffective because of the inability to
11 deliver it rather than an incorrect therapeutic
12 choice. I believe that it was a correct
13 therapeutic choice at that point.

14 Q. Would the severity of the underlying
15 disease process also be one reason why perhaps it
16 didn't work?

17 A. It could be a reason why it didn't work.
18 Although if it was effectively delivered and you
19 made a decision after the delivery that the patient
20 appeared to be getting better, then you might
21 repeat the treatment.

22 So I think that that's something that
23 is done in a sequencing of events, **It's** not at all
24 unusual to require several racemic epinephrine

1 treatments for severe croup.

2 Q. Now, I take it you're aware from your
3 review of everything in this case that Dr. Irefin
4 first attempted to intubate the child with a No. 5
5 endotracheal tube?

6 A. Yes.

7 Q. Is that an appropriate size to use for an
8 initial attempt?

9 A, Yes, it is. That would be an appropriate
10 size by this patient's age,

11 Q. And you're aware of the fact that he was
12 unsuccessful in completing the intubation with that
13 tube?

14 A, Yes,

15 Q. What's your understanding as to why he
16 was unsuccessful?

17 A, From my review, and I believe it was
18 either from his statement in deposition or from the
19 note, I can't remember the source, he said that he
20 was able to pass the tube between the cords but it
21 couldn't be advanced below the level of the cords,
22 the vocal cords,

23 Q. I've seen references in the records to
24 true vocal cords and false vocal cords; can you

1 explain the difference for me?

2 A. The structure of the larynx is such that
3 there are two vocal folds. In the supraglottic
4 space, the initial vocal folds are the so-called
5 false vocal cords. There is an area between the
6 true vocal words which are just underneath the
7 false vocal cords called the laryngeal ventricle,
8 and then true vocal cords which provide the inlet
9 to the larynx. The larynx is really the space
10 between the true vocal cords and the subglottic
11 space lies just underneath that. So if one is
12 looking at a tubular structure, there is an
13 invagination initially and then a small space and
14 then a second invagination. And those are the true
15 vocal cords. And then below that is the subglottic
16 space.

17 Q. What's your understanding as to why he
18 was unable to complete the intubation with the No.
19 5 tube?

20 A. From the review and from my understanding
21 of the pathology and the pathophysiology and the
22 anatomy of croup, it's most likely that he was
23 unable to pass the tube beyond the true vocal cords
24 because of the swelling in the subglottic space,

1 which was compatible with her signs and symptoms.

2 Q. So I take it then that you are not
3 critical of him for his inability to intubate with
4 a No. 5 tube,

5 A. In fact I'm not surprised at that at all.

6 Q. You don't blame him for trying, though,
7 do you, with a No. 5?

8 A. It was a perfectly good selection of an
9 age-appropriate tube.

10 Q. And the records indicate that he then
11 used either a 3 or a 3.5 tube to complete the
12 intubation, I take it you don't have any problem
93 with the use of that size tube?

14 A. One has to select the tube that will
15 fit. He could have just as easily been successful
16 with a 4.5 or a 4. It would be impossible to know
17 a priori. The fact that the 3 or a 3.5 seemed to
18 fit I think is perfectly fine.

19 Q. Is a 3.0 or a 3.5 tube shorter than a 5
20 tube?

21 A. Yes.

22 Q. What's the difference in length?

23 A. I would have to measure them to tell you
24 precisely.

1 Q. Are they standard sizes?

2 A. They are standard sizes.

3 Q. So I could go to any endotracheal tube
4 kit and whatever I see would be the same size tube
5 that you're looking at as well most likely?

6 A. The dimensions of all endotracheal tubes,
7 regardless of manufacturer, are set by the American
8 National Standards Institute.

9 Q. Is there a greater risk of an accidental
10 extubation when one is using a smaller tube than
11 usual?

12 A. That's a difficult question to answer.
13 That depends on a number of factors. The first
14 factor is how the tube is secured, The second
15 factor is that there is some difference in the
16 resilience of the tube, in that a smaller tube is
17 thinner and shorter and it's more subject to
18 bending. So in that sense it's somewhat less
19 resilient.

20 There's absolutely no question that
21 even a small endotracheal tube can be secured and
22 can provide a perfectly adequate airway, For
23 example, we intubate small babies all the time with
24 small endotracheal tubes. So I would say the

1 bigger the tube the more easily it's secured, but
2 that doesn't imply that even a small tube can't be
3 secured well and provide a perfectly adequate
4 airway.

5 Q. Based upon what you've seen in this case,
6 does it appear as though the first intubation by
7 Dr. Ireffin was accomplished at about 12:40?

8 A. I think that it was perhaps accomplished
9 a little bit later than that. From my review of
10 the time sequence, and it's marked on the
11 concurrent emergency room record that appeared to
12 be kept by the nurse, that at 0040 the time was
13 indicated as Dr. Ireffin's arrival, and the
14 administration of versed, v-e-r-s-e-d. At 0043
15 succinylcholine, s-u-c-c-i-n-y-l-c-h-o-l-i-n-e, was
16 administered. And within a minute the patient was
17 intubated. So I would say that the patient was
18 probably intubated at 0044.

19 Q. And would I be correct in assuming that
20 up until 12:44 a.m. you don't have any standard of
21 care criticisms of Dr. Ireffin as of yet?

22 A. From my review I don't find anything to
23 be particularly critical about. **There are** some
24 things missing from the record. It's unclear, for

1 example, whether the patient was preoxygenated on
2 the record prior to the administration of the
3 versed and the succinylcholine.

4 Q. That would be something, a standard thing
5 that a physician should know to do, continue to
6 oxygenate the patient,

7 It would be a standard measure, and
8 that is bag masking the child with oxygen, while
9 giving medications prior to completing the
10 intubation?

11 A. Yes, that would be standard. And
12 although there's no indication of that, there's no
13 indication that it wasn't done, So while it would
14 be difficult for me to assume any level of
15 practice, I would assume that a pediatric patient
16 in respiratory distress was probably receiving
17 oxygen at the time that these medications were
18 given.

19 Q. In this setting is that something that
20 would probably be done by the respiratory
21 therapist?

22 A, It could have been done by the
23 respiratory therapist. In this setting it's most
24 likely to have been done by Dr. Irefin, because he

1 would in all likelihood want to control the bag
2 mask valve ventilation prior to laryngoscopy
3 intubation.

4 Q. How does one confirm that an endotracheal
5 tube has been properly placed?

6 A, There are a variety of ways of doing
7 that, Primarily it is physical examination,
8 physical diagnosis and devices that are generally
9 recognized as indicators of successful intubation.

10 The first method, of course, is when
11 you're doing the direct laryngoscopy you can see
12 the endotracheal tube passing between the vocal
13 cords, The second method is to listen to the chest
14 and confirm that there are breath sounds
15 bilaterally. The third method is to look at the
16 chest and see the chest rising and see that there
17 are symmetrical excursions.

18 The reason that symmetry is important
19 is that one can have the tube placed too deeply,
20 and so looking for symmetry in chest wall
21 excursions is another method.

22 Another method is to look for a flash
23 of water vapor in the tube during exhalation. That
24 in and of itself is not totally reliable, but the

1 presence of it commensurate with other signs of
2 physical diagnosis helps to confirm that the tube
3 is in place,

4 In addition to those physical
5 diagnosis criteria, there are criteria that are
6 established by medical devices, The best device
7 for confirming placement of an endotracheal tube is
8 the detection of carbon dioxide in the end-tidal
9 breath, That can be done in a number of ways,
10 There are colorimetric, c-o-l-o-r-i-m-e-t-r-i-c,
11 end-tidal CO2 detection devices, and they provide
12 either a qualitative or a semiquantitative way of
13 assessing the presentation of carbon dioxide in the
14 exhaled breath.

15 There are also a variety of devices
16 that are available, the technology of which is
17 infrared analysis or mass spectrometry analysis or
18 Raman scattering analysis.

19 Q. Why don't we just limit your answers to
20 what would be relevant to an emergency room setting
21 like this,

22 A. In the emergency room, the most likely
23 device to be used would be a qualitative or
24 semiquantitative end-tidal CO2 analysis device,

1 Q. And that device simply tells us that **C02**
2 is being exhaled, which confirms that we've got the
3 tube in the trachea as opposed to the esophagus?

4 A. Yes. It's possible when an esophageal
5 intubation is done that in the initial few breaths
6 that are delivered end-tidal **C02** can be detected,
7 especially in a patient who has been receiving
8 positive pressure ventilation.

9 For example, there is some carbon
10 dioxide in the stomach. Usually that is
11 extinguished, that volume of carbon dioxide is
12 extinguished within the first several breaths. So
13 that even if carbon dioxide is detected, it will
14 only last two, three, four breaths, and then the
15 indicator will indicate that no carbon dioxide is
16 being detected. That distinguishes it from
17 placement in the trachea where continuous repeat
18 carbon dioxide is detected.

19 In addition to that, the natural
20 history and progression of the patient and
21 resolution of the problem helps to determine the
22 adequacy of the placement of the endotracheal tube
23 because oxygenation will improve if you're dealing
24 with a hypoxic patient on the basis of inadequate

1 pulmonary ventilation.

2 There are other reasons for hypoxia
3 that may not have to do with problems in the
4 lungs. And in that case, the hypoxia may not
5 resolve. But for most patients who have pulmonary
6 problems as the cause of their hypoxia, their
7 hypoxia will get better.

8 Q. It's your opinion that the first
9 intubation was completed successfully?

10 A, Yes.

11 Q. Can an endotracheal tube become dislodged
12 in an agitated patient even if it has been properly
13 secured?

14 A, Yes,

15 Q. Has this ever happened to you?

16 A, Yes,

17 Q. How does one detect tube dislodgment?

18 A, There are a variety of ways, and they're
19 all mirror images of the ways that I described to
20 detect the adequacy of tube placement. So, for
21 example, in an end-tidal CO2 device that is
22 detecting the breath-to-breath presence of carbon
23 dioxide, one would note its absence, One would
24 note the absence of breath sounds, the absence of

1 the chest wall rising. And in the general large
2 picture of whether the patient is getting better or
3 getting worse, clearly the patient will begin to
4 get worse.

5 Frequently one can audibly hear, for
6 example, the delivery of positive pressure through
7 the mouth or in the pharynx. If the tube has
8 become dislodged, one can hear the sounds of air
9 being delivered through the mouth.

10 Occasionally, in small children, one
11 might even detect bubbling through the nose, for
12 example, because of retrograde air delivery.

13 Q. And this tells us the tube is now in the
14 esophagus as opposed to the trachea?

15 A. It wouldn't necessarily have to be in the
16 esophagus to do that. For example, it could be
17 sitting in the pharynx. One of the more common
18 things, especially with the shorter tubes that are
19 less resilient, is that if the patient coughs it
20 out, they may cough it into their oropharynx even
21 if it's secured with tape or any other securing
22 device.

23 Q. Do you have an opinion in this case as to
24 when Jennifer's endotracheal tube first became

1 dislodged?

2 A, Yes, I do,

3 Q. What is that?

4 A. Although I don't have an exact time.

5 Q. Give me a time frame or a period, if you
6 can,

7 A. As I went through the times between --
8 and we can look back in the record if you like for
9 a reference -- between 0040 and 0052, at the time
10 of the cardiac arrest and the beginning of
11 resuscitation, the most logical sequence it seemed
12 to me was, as I started to outline before, she was
13 probably intubated around 0044 or 0045. Under the
14 influence of succinylcholine, which is a very
15 rapidly acting neuromuscular blocking agent, it
16 probably wouldn't take any longer than two or three
17 minutes for her to start recovering from the
18 effects of that neuromuscular blockade. That would
19 bring us to somewhere around 0047 or 0048,

20 Having seen this situation many
21 times, been involved with the situation many times,
22 it would be most logical to me that the sequence of
23 events would be as follows: The patient started to
24 recover from the neuromuscular blockade, started to

1 move around. She could have easily reached up to
2 try to pull the tube out herself. She could have
3 started moving her head around, resuming the same
4 struggle that she had had just previously because
5 that was certainly her behavior before,

6 And at that point it was unclear to
7 me how the tube was secured, Not that that would
8 necessarily guarantee it remaining in place, as I
9 outlined before. The tube could have been secured
10 with tape or could simply have been held by the
11 hands of Dr. Irefin or the respiratory therapist at
12 that point. But sometime probably commensurate
13 with the recovery from the neuromuscular blockade,
14 around 0047 or 0048 is the most likely time that
15 when the patient resumed moving or struggling, that
16 the tube was probably dislodged. It seemed to me
17 that that was recognized by the caretaking team as
18 well, because it was at that point that she was
19 reintubated.

20 Q. So you believe then that there was a
21 timely recognition of the ET-tube's dislodgment?

22 A. Yes.

23 Q. And what do you believe transpired after
24 the tube was recognized as being dislodged?

1 A. It seemed to me that it was replaced, and
2 it was probably replaced according to the sequence
3 of events that I reviewed, it was probably replaced
4 prior to the administration of any subsequent
5 neuromuscular blocking drug.

6 There is a reference that Pavulon was
7 administered after the second tube was placed. And
8 that seems consistent.

9 There are also references that the
10 placement of the second tube was somewhat
11 difficult, and I think a few people referred to
12 that. Nevertheless, the tube seemed to have been
13 placed prior to the Pavulon administration, and I
14 would put that sometime between 0048 and perhaps
15 0050.

16 Q. Do you have an opinion in this case as to
17 when the chest x-ray was taken?

18 A, I do.

19 Q. What is your opinion?

20 A. My opinion is that the chest x-ray was
21 probably taken after the second tube was placed and
22 not after the first tube was placed.

23 Q. And what's your basis for that opinion?

24 A. The findings on chest x-ray are

1 incompatible with the physiology and the history
2 and the natural evolution of what was occurring
3 clinically with the patient. The patient -- would
4 you like me to elaborate on that?

5 Q. Yes.

6 A. The patient was intubated by all accounts
7 apparently successfully. There was the presence of
8 end-tidal CO2. There were breath sounds that were
9 confirmed. Her clinical picture got better. Her
10 saturations came up by the nurse's note to 99
11 percent .

12 So by all accounts and by natural
13 history and by an understanding of the
14 pathophysiology of respiratory distress, the tube
15 was in the trachea, she was doing exactly what you
16 would expect her to do. And the neuromuscular
17 blockade agent resolved quickly and the tube came
18 out and the tube was replaced.

19 The chest x-ray findings are not
20 compatible with an endotracheal tube that's placed
21 within the trachea.

22 Q. You looked at the chest x-rays?

23 A. Yes .

24 Q. And I know you've been kind enough to

1 make a copy of the film for me. Why don't you show
2 for me on the chest x-ray what the pertinent
3 findings are?

4 MS. KOLIS: Do you have a wax
5 pencil? Okay if you don't. I was just thinking
6 for Marc's purposes if we had you mark with wax you
7 can ask him, I'm just trying to be courteous. You
8 can't write on it with ink, so...

9 THE WITNESS: Would you like me to
10 try to find one?

11 MR. GROEDEL: If you can, if it won't
12 take long.

13 (Discussion held off the record.)

14 (Short recess taken).

15 Q. Why don't just go ahead and take a look
16 at the x-ray and describe for me your findings,

17 A. Sure. If you don't mind me holding it up
18 to the light and if it's adequately
19 transilluminated.

20 Q. Sure.

21 A. There are certain findings on here that
22 are important to know about, and let me just begin
23 by saying x-rays can reflect a lot of different
24 densities, The whiter something is then the more

1 dense it is. X-rays can reflect a number of
2 different densities. And the whiter something is
3 then the more dense it is; and the darker it is
4 then the more lucent it is. Lucency is generally
5 air quality, and darker tissues reflect water
6 density -- strike that, Whiter tissues reflect
7 water density and the whitest tissues reflect bone
8 because they obstruct the passage of the x-ray, So
9 it's very easy to tell the difference between bone,
10 for example, here in the arm or the ribs and air
11 density. And this is clearly an air density
12 because it's very lucent.

13 There are a number of findings here
14 that are pertinent. No. 1, this is the stomach and
15 this is the intestine that's connected to the
16 stomach, the duodenum. This is compatible with air
17 density because it's very lucent.

18 Q. You're talking about the stomach and the
19 intestine?

20 A, Yes.

21 Q. They have got a lot of air in them, is
22 that what you're saying?

23 A. **They've got** a lot of air. If you go up
24 on the film to where the lungs should be, and of

1 course you would expect that the lungs would have a
2 lot of air in them. Being properly aerated they
3 should have the same appearance as the stomach
4 does. They should look very lucent. And in fact
5 they don't. They look like they have water density
6 or soft tissue density.

a Since lungs are normally air-filled
8 structures, if you remove the air from lungs, those
9 the sacks that represent the alveoli collapse, and
10 they provide more of a water density as far as the
11 penetrating x-rays.

12 So this in fact could be compatible
13 with lung collapse from collapsed alveoli. It
14 could be a bilateral diffuse pneumonia. It could
15 be bilateral pulmonary edema. All of those are
16 possible in a differential diagnosis.

17 There's another structure here that
18 is filled with air, a long tubular structure that's
19 filled with air. And this tubular structure is
20 connected to the stomach; you can follow it down
21 right through here and right through here and into
22 this portion of the lesser curvature of the
23 stomach. This **structure is** the esophagus.
24 Proximal to this structure is the endotracheal

1 tube, which is clearly seen because it has
2 radiopaque materials within it.

3 So there's an endotracheal tube
4 that's placed within the esophagus that's dilating
5 the esophagus just like a balloon would be dilated
6 if it was filled with air.

7 Within the parenchyma of the lung
8 tissue there are also air bronchograms, and air
9 bronchograms reflect the trapping of air within the
10 conducting airways of the lung that lead to the
11 alveoli. Air bronchograms are these small
12 structures that outline the main stem bronchus and
13 peripheral bronchi.

14 And it's easy to imagine, for
15 example, that in collapsed lung tissue surrounding
16 the small amount of air that continues to fill
17 these conducting airways, it outlines by contrast
18 the air within those conducting airways.

19 So this is a picture that is most
20 compatible with an endotracheal tube that's placed
21 within the esophagus, distending the esophagus,
22 massively distending the stomach and a proximal
23 portion of the duodenum also indicating pulmonary
24 collapse.

1 Q. Is that it?

2 A. That's it.

3 Q. When one looks at that chest x-ray, are
4 you able to determine how long the tube had been in
5 the esophagus before this picture was snapped?

6 A. You can't tell that from the x-ray. The
7 only thing that you can really tell -- let me come
8 back to that, You can't tell how long it's been
9 because of the size and age of the patient, This
10 is 15 kilogram five-year-old. It doesn't take very
11 long to insufflate the stomach of a 15 kilogram
12 five-year-old before you get massive gastric
13 distension compatible with what you see on the
14 film.

15 Q. Is this picture, this chest x-ray film,
16 compatible with an esophageal intubation that was
17 going on for one minute?

18 A. Yes. Could have been a minute, could
19 have been a few minutes. But it certainly could be
20 compatible with a minute.

21 Q. The findings that we see in the child's
22 lungs, do you have an opinion as to what was
23 **causing those findings?**

24 A. Yes .

1 Q. What's your opinion?

2 A, My opinion based on that film and based
3 on the patient's course at that point in time is
4 that it's most likely, with pulmonary collapse,
5 failure to adequately ventilate, and it reflects
6 alveoli collapse. It is by history not compatible
7 with pulmonary edema, nor is it compatible with
8 bilateral pneumonia,

9 Q. And why do you say that?

10 A, Because the patient could be adequately
11 oxygenated several minutes beforehand. If it was a
12 diffuse pulmonary process, then the patient's
13 efficiency of oxygenation wouldn't have resolved so
14 quickly.

15 Q. You mean after the first intubation?

16 A. After the first intubation, And since
17 there was no description of pulmonary edema fluid
18 in the endotracheal tube, it doesn't seem
19 compatible with pulmonary edema either, nor would
20 you expect the efficiency of oxygenation if the
21 patient had pulmonary edema to have resolved so
22 quickly.

23 Q. Do you believe any of the findings on
24 this chest x-ray were caused by the croup that

1 brought her to the hospital?

2 A. No.

3 Q. And so you believe that all of the
4 findings were caused by the esophageal intubation.

5 A, Yes.

6 MS. KOLIS: You know I trust Marc
7 enough that I'm going to go to the ladies room. If
8 he asks you anything outrageous, don't answer it,

9 (Discussion held off the record.)

10 (Recess taken).

11 Q. Would you agree that a physician really
12 doesn't need a chest x-ray to confirm whether or
13 not he's got the tube in the trachea?

14 A. Yes, I would agree.

15 Q. If I asked you this already or if you
16 told me this, I apologize. But does this film
17 definitively in your opinion show the tube to be in
18 the esophagus as opposed to the trachea?

19 A, Yes. For all of the reasons that I
20 outlined, including what I think is critical is the
21 patient's normal course of events.

22 No one reads a chest. x-ray in
23 isolation. Often people are quizzed that way, but
24 in fact the x-ray is only a part of the findings,

1 And if you put it together with the physical
2 diagnosis, with the natural history and with the
3 evolution of the events, it's all perfectly
4 compatible.

5 Q. The record indicates that Jennifer was
6 given a dose of Pavulon at 12:50 a.m. Is that your
7 understanding as to when the second intubation was
8 completed?

9 A. According to the sequence that I've
10 outlined it wouldn't surprise me. And of course I
11 have to guess my best guess as to the sequence of
12 events which I base on my experience and having
13 seen these before. But I would say that probably
14 the second intubation was around 12:48 or 12:49.
15 It certainly would seem that the Pavulon was given
16 afterwards rather than before because it seemed as
17 if she didn't receive a neuromuscular blocking
18 agent for the second intubation. Therefore 12:50
19 seemed like a perfectly believable time.

20 Q. Was it appropriate to give Pavulon?

21 A. I think it's perfectly appropriate. It's
22 something that we very easily might have done here
23 as well. I've certainly been in the same situation
24 where I've done exactly that.

1 Q. And the purpose of the Pavulon would be
2 for what in this setting?

3 A. To ensure motionlessness so that her
4 airway could remain secure,

5 Q. And it's your belief then that following
6 the second intubation, the patient became
7 bradycardic at about 12:52 and went into **full**
8 arrest at that time?

9 A. Yes. And again the record would indicate
10 that based on the strip chart of the EKG.

11 Q. Show me on the strip where **it** shows that
12 the arrest started at 12:52.

13 A. Well, that's a difficult problem and I'll
14 tell you why. This is not a continuous strip.

15 Q. Just take portions of it.

16 A. Here, the way that the recorder seems to
17 work is that prior to the date is the time stamp,
18 So I would assume that this stamp that I'm
19 indicating here on the strip is 0034. And it
20 indicates a sinus tachycardia, which is perfectly
21 compatible with what her history was. And then at
22 0052 there is a profound sinus bradycardia. That
23 **is compatible with severe hypoxia and an end stage**
24 agonal heart rate, particularly in pediatric

1 patients. Sinus bradycardia is usually the agonal
2 heart rate that precedes cardiovascular collapse
3 and cardiac arrest in children who are hypoxic,

4 But these are discontinuous, so that
5 right before here there might have been a
6 progressive bradycardia and her heart rate may have
7 slowed to an ominous rate and then became agonal,
8 We can't see that. But again based on my
9 experience it wouldn't be incompatible with this
10 history,

11 That window of time was really only
12 two minutes between 12:50 and 12:52. So it's not a
13 very long window of time.

14 *a.* Is that surprising to you? Or can
15 somebody --

16 A, No, it's not surprising at all. It's
17 again perfectly compatible with children,

18 Q. And so can a patient in this setting,
19 who's been esophageally intubated for two minutes,
20 sustain the arrest that this patient had at about
21 12:52?

22 A. Yes,

23 Q. I take it you're not critical of the fact
24 that the patient was esophageally intubated, are

1 you?

2 A. No, That in fact happens to everybody,

3 Q. Your criticism is that it wasn't in your
4 opinion recognized in a timely fashion?

5 A. Yes, that's correct,

6 Q. What would be your opinion as to Dr.
7 Irefin's responsibility to this patient once the
8 code started at 12:52?

9 A. Well, I think that his responsibility
10 isn't discontinuous. So that his obligation is at
11 the first sign of things not going well, when they
12 had previously gone well, is to doubt the adequacy
13 of the intervention. And I really think that's the
14 essence of the problem. If he hadn't known that
15 beforehand, if he hadn't known that things went
16 well with the initial intubation in the trachea,
17 then he would have less data to go on, For
18 example, he might have thought that the underlying
19 lung disease or the putative underlying lung
20 disease might truly be the cause, But in fact he
21 had information to the contrary, He knew that a
22 patient in respiratory distress got better through
23 his initial intervention. He made a second
24 intervention and the patient got worse. The index

1 of suspicion attached to that should be very high
2 for saying what could I do to re-evaluate, to
3 doubt, to be skeptical about the adequacy of this
4 tube placement,

5 Q. And so at what time do you believe the
6 standard of care required Dr. Irefin to question
7 the adequacy of the tube placement?

8 A, In the two minutes between -- and if
9 we're going according to this hypothetical time
10 line -- in the two minutes between 12:50 and 12:52,
11 what was the evidence to suggest that the tube was
12 in a good position and what was the contravening
13 evidence to suggest that the patient was
14 deteriorating, and how could those two be
15 resolved?

16 For example, everybody in anesthesia
17 has been in the position to have to doubt and treat
18 their own interventions with skepticism. And it
19 seems to me that even in a short window of time
20 there was ample opportunity to confirm by these
21 same previously utilized physical diagnosis
22 criteria or by chemical criteria or electronic
23 criteria was the tube in the right place.

24 On the other hand, you're left with a

1 progressive, almost an inexorable course toward a
2 profound bradycardia, impaired oxygen saturation,
3 Why is the patient getting worse? And what means
4 were available to reassess the adequacy of tube
5 placement?

6 The end-tidal C02 detection device
7 that was used initially could have been used a
8 subsequent time. All of the physical diagnosis
9 criteria could have been used. A re-laryngoscopy,
10 which in the record was indicated as having been
11 done, although it's a little unclear to me as to
12 when. I don't know, for example, if the re-
13 laryngoscopy was done during that two-minute
14 interval prior to the cardiac arrest or if it was
15 being done during the cardiac arrest, That's
16 unclear,

17 But on the one hand, you have a tube
18 that's replaced and some means of assessing whether
19 it's in the correct place, On the other hand, you
20 have a deteriorating patient. And you don't have a
21 lot of time to decide why the patient is
22 deteriorating, but you have the ten minutes
23 preceding that where the problem got better by a
24 simple intervention,

1 So it seems to me that during that
2 window of time you have to resolve that problem
3 before the patient progresses from a sinus
4 bradycardia to ventricular fibrillation,

5 Q. Two minutes doesn't seem like an awfully
6 long period of time to be able to make the
7 assessment and accomplish the change, does it?

8 A. It's a very short time. And the
9 challenge in working with sick children is that you
10 don't have a long time to do that, Their
11 underlying physiology and their metabolic activity
12 and their oxygen consumption and their CO2
13 production doesn't allow you the luxury of several
14 minutes to decide, You really only have a minute
15 or two to decide,

16 Q. would it be fair to state that, based
17 upon your extensive training and experience in this
18 area, you're more expert in and more attuned to
19 problems associated with intubating a child as
20 opposed to a general anesthesiologist would be?

21 A, I would say **that** I'm more comfortable
22 taking care of children than most adult
23 **anesthesiologists are, That's what I do,**

24 Q. Are you able to state to within a

1 reasonable degree of probability that if Dr. Irefin
2 had questioned the adequacy of his tube placement
3 within that two-minute time frame, the arrest would
4 have been avoided?

5 A. Yes. I would say that within that
6 two-minute time frame, if he had made the diagnosis
7 of an esophageal intubation and had rapidly
8 replaced the tube accurately within the trachea,
9 that the progression of sinus bradycardia to a
10 cardiac arrest would have been avoided.

11 These are other alternative means
12 available as well, For example, let's say that for
13 some reason it was difficult to replace the tube,
14 or there is difficulty in visualizing the larynx,
15 The essence of the intervention is not necessarily
16 whether the tube was replaced, but whether the
17 patient was oxygenated and ventilated. So for
18 example, one wouldn't have to replace the tube
19 necessarily, One could simply take out the tube
20 that was in the esophagus and simply bag-mask
21 ventilate the patient,

22 What the patient needed was
23 oxygenation and ventilation. Whether you delivered
24 that by endotracheal tube or by laryngeal mask or

1 by tracheostomy wouldn't matter at that point.

2 Q. Do you know if a laryngeal mask airway
3 would have been available in the emergency room at
4 that time?

5 A. I don't know. They were available
6 commercially in the United States. Whether anybody
7 had used them, had the expertise for it, whether it
8 was available and whether it would have been
9 effective is very hard to determine.

10 Q. Do you know if it would have been
11 effective if they had simply decided to bag mask
12 the child after pulling out the endotracheal tube
13 two minutes before the arrest?

14 A. It's impossible to predict
15 retrospectively. In similar situations that I've
16 been in, there is no compulsion to re-intubate the
17 patient if you know that you can adequately bag
18 mask valve the patient.

19 For example, a patient with a
20 difficult airway who's had an extremely difficult
21 intubation; let's say after an extremely difficult
22 intubation for one reason or another the
23 endotracheal tube somehow becomes dislodged. You
24 don't have to rush as long as you know you can bag

1 mask valve ventilate the patient. And if you need
2 a surgeon to establish a surgical airway, all you
3 simply have to do is ventilate the patient, provide
4 oxygen.

5 So that the first priority, the
6 highest priority in a situation like this is to
7 adequately ventilate and adequately oxygenate by
8 any means available, as long as it's quick and
9 easy. And if it's easier to mask the patient and
10 have a surgeon do a tracheostomy, then that would
11 be an appropriate course of treatment.

12 Q. In light of the patient's severe croup
13 stridor, would that make it difficult to properly
14 oxygenate a child with just a bag and mask?

15 A. It would make it difficult, yes. The
16 airway is narrowed; there's no question that it
17 would make it more difficult.

18 Q. So intubation would be the preferred way
19 of oxygenating the child.

20 A, At that point yes, because the hazard, of
21 course, is that when you apply positive pressure to
22 the airway, not only are you ventilating the
23 trachea, but if you have to use high pressures, you
24 may be ventilating the stomach as well.

1 Q. Do you believe that Jennifer was taking
2 in any air that was getting into her esophagus and
3 stomach prior to hitting the emergency room?

4 A. She may have been. Aerophagia is a
5 common accompaniment of respiratory distress.

6 Q. Are you able to state that if Dr. Irefin
7 had decided to pull out the tube within this
8 two-minute interval and bag-masked the child, that
9 that would have avoided the arrest?

10 A. It wouldn't necessarily have avoided it.
11 If he was sure that he could deliver adequate
12 ventilation and oxygenation by bag mask valve. For
13 example, if the airway edema was so severe that he
14 couldn't adequately ventilate, then he would simply
15 have to replace either the endotracheal tube or a
16 tracheostomy would have to be performed or a rigid
17 bronchoscopy would have to be performed in order to
18 pass a bronchoscope past the area of obstruction.

19 In all likelihood they wouldn't have
20 a rigid bronchoscope available in the emergency
21 room and that's something that one would ordinarily
22 have available in the operating room.

23 On the other hand, it's entirely
24 possible that he could have been able to adequately

ventilate and adequately oxygenate, or get enough oxygen in so that the saturations could have come up to levels that would be more compatible with preventing a cardiac arrest. It's impossible to say that at this point retrospectively.

Q. So just so the record is clear, you're unable to state that bag masking during this interval of 12:50 to 12:52 would have prevented the arrest; is that correct?

A. I'm unable to state with certainty that it would have prevented it. On the other hand, it would be in all likelihood the first thing that I would have donee And in the operating room,, for example, or in other similar situations it's common practice, not only my common practice but common practice among my colleagues, to doubt the placement of the endotracheal tube, to remove it immediately if the patient is doing things that show deterioration and to worry about the adequacy of an endotracheal tube placement afterwards.

So the first thing you do is doubt the adequacy of the tube placement, remove the tube immediately and bag mask valve ventilate.

Q. And in this case, if you had bag mask

1 ventilated the child, you can't tell whether or not
2 that would have avoided the arrest,

3 A. I can't predict with certainty whether it
4 would have avoided it. It would have been the
5 first thing I would have done, Again, one has
6 small windows of time to make those decisions, but
7 adequate windows of time. You can tell within five
8 to ten seconds whether or not the situation is
9 getting better, whether you're moving the chest,
10 whether you're moving air. And if the situation is
11 not getting better, you haven't lost much time in
12 five to ten seconds, so you can do a
13 re-laryngoscopy and attempt doing an intubation,

14 Q. Do you have an opinion as to for how long
15 Jennifer was esophageally intubated?

16 A. I have an opinion but I don't know a
17 definite amount of time, I do think that she was
18 esophageally intubated from the time of the second
19 intubation until the time of the tracheostomy. And
20 that opinion is based on the progression of events,
21 the lack of resolution of her oxygen saturation,
22 and the immediate resolution of events once a
23 surgical airway was established.

24 Now, looking at that time frame,

1 12:52 is noted as the time of the arrest, and I
2 believe 1:04 was noted as the time of the
3 resolution of vital signs,

4 Q. During the period that the child was in
5 your opinion esophageally intubated prior to the
6 arrest, she was still breathing?

7 A. Could you say it again?

8 Q. Sure. I think you said that she was
9 esophageally intubated at around 12:50 or so,

10 A. Yes.

11 Q. And then she arrested at 12:52.

12 A, Yes.

13 Q. During that two-minute time frame, she
14 was also breathing, though, true?

15 A. I don't think so. And the reason that I
16 say that is that she was given Pavulon.

17 Q. So once the Pavulon was given, she
18 wouldn't be able to spontaneously breathe on her
19 own.

20 A, Yes, as a neuromuscular blocking agent,
21 it in fact would have committed her to requiring
22 positive pressure ventilation.

23 Q. So would it be fair to state that your
24 first standard of care criticism against Dr. Irefin

1 is his failure to timely recognize the esophageal
2 intubation during the time frame of 12:50 to
3 12:52?

4 A, Yes,

5 Q. And do you have any criticisms, standard
6 of care criticisms, of Dr. Irefin once the code
7 began?

8 A. The ongoing criticism for the same
9 reason. I think that at any point during that time
10 he, or a member of the team, and I believe that a
11 member of the team actually did point out his
12 doubts, Mr. Sharp, according to his deposition,
13 pointed out his doubts about the adequacy of the
14 tube placement. I don't exactly recall what
15 criteria he used other than this assertion that it
16 appeared to be loose. There may have been other
17 criteria, I'm sorry, I just don't recall. But
18 there was a continuum between 12:52 and 1:04 where
19 at any point someone could have questioned "is this
20 tube in the right place or not?" I didn't see any
21 evidence questioning that, and it would be
22 something that I think should be appropriately
23 questioned a great deal,

24 It seems to me that there were

1 measures available to assess that. And again,
2 looking at children, the epidemiology of children
3 and why they have cardiac arrests, the problem is
4 generally an airway problem, so one always looks
5 very skeptically at the adequacy of the airway
6 whenever a child isn't resolving as quickly as you
a would expect.

8 So I would say that it wasn't only
9 those two minutes; those two minutes were the
10 opportunity for reversibility. The other minutes
11 were the opportunity for resolution, although I
12 think that that was, the reversibility issue, was
13 getting worse and worse during that time.

14 Q. Your comments that you just made a few
15 moments ago about your awareness of the fact that
16 in situations like this, for children, the airway
17 is usually the reason for the arrest or problems
18 with the airway. Is that something that you would
19 expect to be within the fund of knowledge of a
20 general anesthesiologist?

21 A. Yes.

22 Q. You know, I've never seen this happen, I
23 guess thank goodness, but it's my understanding
24 that if somebody has been esophageally intubated,

1 it's something that a trained medical person should
2 be able to see fairly easily, isn't it?

3 A. The answer to that is complex. It's yes
4 and no. First of all, there's the context of the
5 emergency room, and it's a battle field context.
6 It doesn't have the serenity of the operating room
7 and it doesn't have the quiet of the operating
8 room. It's noisy; it's difficult to assess.
9 That's why there are multiple modalities that have
10 some redundancy built into them to help you confirm
11 or deny the adequacy of the placement.

12 One could say, for example, that you
13 could do a laryngoscopy. Look at the larynx and
14 see whether a piece of plastic is in the trachea or
15 in the esophagus; and intuitively that seems like
16 it would be easy to do. The only problem is that
17 everybody in their training has been fooled. So
18 there's always some skepticism that has to be shed
19 onto that,

20 One could say that you should always
21 be able to hear breath sounds in the chest, except
22 the smaller the child the more easily you can hear
23 breath sounds anyplace even if a tube is in the
24 esophagus. I've heard breath sounds in the head of

1 babies, and clearly a tube isn't in a baby's head.
2 So an esophageal intubation, especially in small
3 children, you can certainly hear what you believe
4 to be breath sounds, Again, the reason for the
5 redundancy,, And taken together, even all of those
6 physical signs and biochemical signs have to be
7 combined with a picture of what's going on,

8 If a patient is doing well and you
9 have all those physical signs, then the tube is
10 probably in the right place. If a patient is doing
11 badly and you think you have most of those physical
12 signs, you have to doubt it and you have to look
13 for further corroborating evidence.

14 Q. Can you have a physician reasonably think
15 he or she is hearing breath sounds in the lungs
16 when in fact you have an esophageal intubation?

17 A. Unquestionably,

18 Q. Can you have a physician reasonably think
19 that he or she is seeing the chest rise
20 symmetrically when you in fact you have an
21 esophageal intubation?

22 A. You can have them believe that they see
23 it; but that's more difficult, because the chest
24 doesn't rise when you're ventilating the

1 esophagus, You may think that you see it, and it
2 may depend on the angle that you view the chest
3 from.

4 For example, one of the ways that I
5 encourage our fellows and residents to look at the
6 chest is not from above, but to actually crouch
7 down to the level of the patient's ear and look and
8 see whether the chest excursions are symmetrical
9 and actually rising. And from that point of view,
10 from that perspective, they can actually see the
11 stomach rising but not the chest.

12 So there are ways of sharpening the
13 physical diagnostic methods that are less
14 conventional than what one uses with adults,

15 Q. Can one have an esophageal intubation and
16 not see the stomach rise?

17 A. Yes, especially if the stomach is already
18 distended, So it's entirely possible. All of
19 those are entirely possible. That's why my
20 emphasis is on the redundancy within the system,
21 One single event can be misleading and you can draw
22 conclusions based on it. The combinations of a
23 variety of events or a variety of diagnostic
24 methods along with a sequencing of events that ten

1 minutes earlier was good and now is bad, should
2 prompt a lot of skepticism.

3 Q. Should the use of an end-tidal CO2
4 colorimetric device be used in this setting during
5 the code?

6 Am In my opinion yes, If you have a
7 question about the adequacy of the tube placement,
8 then a colorimetric device or some sort of CO2
9 device I think is an excellent idea.

10 The issue comes up, of course,
11 whether it's standard of care or standard of
12 practice. And that's been a matter of some
13 dispute. It's certainly standard of practice in
14 anesthesia to accept the fact that the production
15 of CO2 and the detection of CO2 is the sinequanon
16 of adequacy of the airway and integrity of the
17 airway .

18 That standard has been debated in
19 various other specialties that also have
20 responsibility for securing the airway and
21 resuscitating the patients. There is absolutely no
22 question in my mind that it is the single best way
23 to know that the tube is in. And other methods can
24 fool you and this tends to fool you less.

1 Should it be used continuously during
2 a cardiac arrest is a complicated question, because
3 the detection of C02 is based not only on the
4 elimination of C02 through the lungs but the
5 adequacy of the cardiac output. And if you have an
6 inadequate cardiac output, then you may not be able
7 to detect C02 even if the tube is in the lungs.

8 Q. If they used an end-tidal C02 monitor
9 during the arrest, and if it showed the appropriate
10 color change which would be indicative of a
11 tracheal placement, would that lead one away from
12 thinking that they had the tube in the esophagus?

13 A. Yes .

14 Q. Can one have an esophageal placement and
15 still obtain normal readings or appropriate
16 readings on an end-tidal C02 monitor?

17 A. You can, but not for long.

18 Q. How long?

19 A. Several breaths is the usual amount of
20 time that it takes to extinguish a C02 response
21 from an intraesophageal tube.

22 Q. Dr. Douglas's note would indicate that an
23 end-tidal C02 monitor was used during the arrest?

24 A. I might have to refer back to that, This

1 was his dictated note?

2 Q. It was.

3 A. I might have to refer back to that,
4 because it wasn't my recollection that he said it
5 was used during the arrest. He said it was used,
6 but I don't recall if he said during the arrest.
7 Can we review that?

8 a. Yes, sure, Here I've got it. Down at
9 the end of that,

10 A, "Of note was that the CO2 monitor was
11 used during the arrest and the ET tube was in place
12 in the trachea according to the CO2 detector."

13 That's not compatible with the events
14 as I would recreate them,

15 Q. Why do you say that?

16 A, Because if the CO2 detector was in the
17 trachea, it should have been detecting CO2. I
18 can't imagine that a patient who so quickly
19 resolved her hypoxia ten minutes earlier would
20 remain persistently hypoxemic leading to a
21 bradycardia, leading to a cardiac arrest with a
22 tube in the trachea. I find absolutely no
23 pathophysiology in the chest x-ray or in the
24 history or in the course of events that's

1 compatible with that.

2 As a corroboration of that and having
3 the advantage of hindsight, the problem clearly
4 resolved once a surgical airway was established,
5 And in fact within seconds or a minute of the
6 establishment of a tracheostomy, the patient showed
7 rebound hypertension, adequate oxygenation,
8 adequate saturation and stable vital signs and
9 resolution of the **EKG** abnormality. So that almost
10 would prove the hypothesis.

11 Q. Of what?

12 A. Of an intraesophageal endotracheal tube
13 leading to sinus bradycardia, hypoxemia and cardiac
14 arrest that was almost immediately resolved with
15 the placement of a surgical airway.

16 Q. Once the arrest started, at what point do
17 you believe it should have been recognized that the
18 patient's airway had not been adequately
19 protected?

20 A. Well, I believe that I answered this
21 before. There were two phases of opportunity. And
22 understanding the epidemiology of how children
23 respond to hypoxemia, there were warning signs.
24 There was a sinus bradycardia. So once the second

1 putative intraesophageal tube was placed, there
2 were sentinel signs of the inadequacy of that
3 placement. There was progressive sinus
4 bradycardia. There was impaired oxygenation and
5 the saturation couldn't go above the 60's according
6 to the note. At that point skepticism should have
7 been directed toward the adequacy of placement.

8 So that was what I would
9 conceptualize as the reversible period. That if
10 the tube had been changed to an endotracheal tube,
11 then that probably would have reversed, and the
12 sinus bradycardia and the oxygenation problem would
13 have been resolved much as it did ten minutes
14 earlier.

15 After that the patient progressed
16 inexorably to ventricular fibrillation and a full
17 CPR code status for some minutes, perhaps ten or
18 12, something like that, until a surgical airway
19 was placed. And at least according to my review of
20 the depositions, there seemed to be some
21 controversy right in the moments just prior to the
22 tracheostomy about whether the tube was truly in,
23 not in, don't try to manipulate it, or reposition
24 it I think was the word that was used. And at

1 least a deposition statement suggested that Dr.
2 Irefin said "I've almost got it."

3 Q. What does that mean to you?

4 A. I don't know. I don't know what that
5 means. Perhaps on laryngoscopy he recognized that
6 it was either in the pharynx or in the esophagus
7 and was trying to redirect the tip of the tube.
8 That's not always an easy thing to do, especially
9 in the middle of CPR.

10 As I suggested earlier, sometimes
11 you're simply better off taking the tube out and
12 using the fastest means possible, which is the
13 application of a mask, to oxygenate and ventilate.
14 But it would suggest at the very least that there
15 was doubt at that point about whether the tube was
16 truly in.

17 To fuel that hypothesis, the
18 immediate resolution by surgical tracheostomy would
19 suggest that in fact the tube wasn't in. Now with
20 the tube being repositioned, it would be difficult
21 for the surgeon to know whether he saw the tip of
22 the intraoro tube or the transoro tube within the
23 trachea,, So he might or might not have seen it.
24 That I don't know. And I don't see any evidence of

1 that in the statements. But one would think that
2 if one is placing a surgical airway, you might see
3 the tip of the transoro tube in the trachea if it
4 was in the trachea, There is no comment about that
5 so I really can't say.

6 The salient feature is that the
7 problems resolved as soon as an airway was
8 reestablished, which would suggest that the
9 rapidity of the resolution was dependent on the
10 establishment of an airway and virtually nothing
11 else.

12 **a.** Was this patient's course also consistent
13 with the child intermittently being tracheally
14 intubated and esophageally intubated during the
15 arrest as a result of repositioning attempts by Dr.
16 Irefin?

17 A, I think the patient's course was
18 attributable entirely to hypoxemia. Whether one
19 could even make a case that there was intermittent
20 tracheal intubation, I would need to see continuous
21 monitoring data to know whether oxygenation ever
22 improved. And I really don't have that
23 information. And it probably wouldn't be material
24 to the eventual course, because until the airway

1 was resecured in a more permanent fashion, there
2 was clearly no resolution, On the other hand, the
3 resolution took place virtually instantly.

4 Q. Do you have any criticisms of anyone
5 besides Dr. Irefer? I mean standard of care
6 criticisms.

7 A. I think that's a difficult question
8 because of the collaborative nature of the
9 process. Do I think that -- I'm asking a Socratic
10 question. Do I think that members of the team have
11 an obligation to raise questions with each other?
12 Yes, I do,

13 I think that Dr. Douglas to some
14 degree, and apparently he did, at a point just
15 prior to the tracheostomy questioned that,
16 questioned the adequacy of the tube placement. And
17 he certainly had the skills available to him as a
18 trained surgeon to surgically access the airway,
19 And as a cardiac surgeon he certainly knew the
20 importance of the adequacy of oxygenation and
21 ventilation and had opportunity within his training
22 I'm sure with some exposure to children in cardiac
23 surgery knowing that bradycardia is a manifestation
24 of severe hypoxemia in children,

1 On the other hand, he placed great
2 reliance on Dr. Irefin to be an anesthesiologist,
3 And I think that that reliance is appropriate.

4 Qe The abnormalities in this child's airway
5 that we saw documented in the subsequent records at
6 UH, do you believe that they played a role in the
7 difficulty in intubating her?

8 A. No, I don't think so,

9 Q. Why is that?

10 A. Because the airway anatomy for accessing
11 the larynx by all accounts was perfectly normal.
12 Even in patients with subglottic stenosis, and she
13 had mild subglottic stenosis on subsequent
14 epiglottoscopy (phonetic). We have patients with
15 subglottic stenosis all time. The anatomic
16 difficulties that lead to difficult intubation
17 don't have to do with subglottic stenosis, they
18 have to do with the development of the jaw, the
19 development of the mid-face, their embryological in
20 nature and they really don't have to do with
21 subglottic stenosis. You might have to use a
22 smaller tube. But if that's the only abnormality,
23 you should be able to easily visualize the airway.

24 Q. You're also then referring to edema of

1 the epiglottis, false vocal cords?

2 A. I am.

3 **a.** If she had edema of the epiglottis, the
4 false vocal cords, the true vocal cords, would that
5 have an impact upon being able to intubate her?

6 A. Yes, it would.

7 Q. In what fashion?

8 A. It might make it more difficult to
9 visualize the airway, and in addition to that an
10 edematous epiglottis would make the airway more
11 reactive. So that such patients may be more
12 susceptible to laryngospasm or coughing and simply
13 struggle more.

14 But this patient didn't have
15 epiglottitis nor did she have signs and symptoms of
16 epiglottitis. She had a history that was not
17 compatible with epiglottitis.

18 Q. Wouldn't a stridor be consistent with
19 epiglottitis?

20 A. It could be. But she didn't have a high
21 temperature. She wasn't toxic appearing. She had
22 some drooling. She had some features that are
23 compatible with epiglottitis, but they are also
24 compatible with other findings of upper airway

1 obstruction.

2 She certainly had in her history a
3 history of croup, and showing up in the emergency
4 room with a history of croup, with a barky cough,
5 with biphasic stridor without a fever would lead
6 you to the diagnosis of croup as the most likely
7 etiology of the problem.

8 Q. Do you have an opinion in this case as to
9 the probable cause of Jennifer Mack's death?

10 A. Yes.

11 Q. What is your opinion?

12 A. Her cause of death was cerebral
13 herniation from cerebral edema. And my opinion as
14 to the cause of that cerebral edema was a hypoxic
15 encephalopathy. The hypoxic encephalopathy was a
16 result of the cardiac arrest and the apparent
17 inadequate oxygenation.

18 Q. Do you have any other standard of care
19 criticisms of Dr. Irefin that you've yet to tell me
20 about?

21 A. No, I don't think so. I think that's
22 really the central issue. The issue is one of
23 detectability and vigilance and scepticism during a
24 course of events that was perfectly compatible with

1 an inadequate airway. That's really the essence of
2 the criticism.

3 Q. While this child was being resuscitated,
4 and prior to the establishment of the surgical
5 airway, would it have been reasonable for the
6 physicians to attribute at least a portion of the
7 child's poor response at that time to an underlying
8 disease process?

9 A. I really don't find any reason to do
10 that.

11 Q. Why is that?

12 A. Because all of it is perfectly accounted
13 for by unitary explanation.

14 Q. By?

15 A. By a single explanation. One could look
16 for several different explanations that dovetail to
17 produce this picture. On the other hand, it's much
18 easier and more compatible with a straightforward
19 course of events to say that this was an airway
20 problem that led to a cardiac arrest that resolved
21 when the airway problem resolved. I find no
22 compelling reason to go beyond that.

23 Q. Have you ever had a situation where a
24 child needed to have a surgical airway established,

1 but before that airway could be established
2 sustained significant hypoxia?

3 A, Yes. And I must say that it wasn't a
4 personal situation, But it was a situation that I
5 witnessed as part of a large code team. It was a
6 patient with an extremely difficult airway who
7 couldn't be intubated nor could the patient be
8 trached,

9 Q. In this setting would one expect to see
10 Jennifer's stomach rise if she had been
11 esophageally intubated during the code?

12 A. One would expect to see it rise if that
13 was the only thing that you were devoting attention
14 to, The reality of the situation is dramatically
15 different than if you were in the laboratory, for
16 example. So could you actually see it rise in the
17 middle of a cardiac arrest? With everybody doing
18 CPR and trying to ventilate and trying to draw up
19 drugs? I think it would be difficult to do that,
20 Could you if that was the only thing that you were
21 paying attention to? Yes, you could,

22 Q. But looking for whether or not the
23 stomach is rising is something that somebody ought
24 to be looking for in this scenario, true? One of

1 the things?

2 A. Well, in my opinion yes. But not if you
3 don't believe that the tube is in the esophagus,
4 So if you have an index of suspicion and you're
5 devoting attention to it, then you would look for
6 it. If you don't have an index of suspicion, you
7 wouldn't necessarily devote attention to it.

8 It's much easier to imagine, for
9 example, that people were listening to the chest
10 than listening to the stomach or watching the
11 stomach. Should you be able to see it? Yes. In
12 the best of all possible circumstances. But that's
13 like asking if you should be able to listen to
14 heart sounds in a busy emergency room. Well, you
15 should be able to, but it's extremely difficult.

16 As I said before, it's part of a
17 portrait. It's one sign out of many.

18 MR. GROEDEL: I'm done. Thanks.

19 THE WITNESS: Okay,

20 (The deposition was concluded at 12:14 p.m.)

21

22

23

24

1 COMMONWEALTH OF MASSACHUSETTS)

2 SUFFOLK, SS.)

3
4
5 I, Maxine D. Marshall, Registered Professional
6 Reporter and Notary Public in and for the
7 Commonwealth of Massachusetts, hereby certify that
8 there came before me on the 29th day of January
9 1998 at 10:20 a.m., the person hereinbefore named,
10 who was by me duly sworn to testify to the truth
11 and nothing but the truth of his knowledge touching
12 and concerning the matters in controversy in this
13 cause; that he was thereupon examined upon his
14 oath, and his examination reduced to typewriting
15 under my direction; and that the deposition is a
16 true record of the testimony given by the witness,

17 I further certify that I am neither attorney
18 or counsel. for, nor related to or employed by, any
19 attorney or counsel employed by the parties hereto
20 or financially interested in the action,

21 In witness whereof, I have hereunto set my
22 hand this ---- day of ----- ----.

23
24

Notary Public
My commission expires:
3/15/02