

1 State of Ohio,)
 2 County of Ashtabula.)
 - - -

3 IN THE COURT OF COMMON PLEAS
 4 - - -


5 MATTHEW LAYMAN, et al.,)
 6 Plaintiffs,)
 7 vs.) Case No. 93 CV 00672
 8) Judge Mackey
 9 C.K. WOO, et al.,)
 10 Defendants.)
 - - -

11 DEPOSITION OF SAMUEL J. HORWITZ, M.D.
 12 Thursday, March 9, 1995
 13 - - -

14 The deposition of SAMUEL J. HORWITZ, M.D., a
 15 witness, called for examination by the
 16 Plaintiffs, under the Ohio Rules of Civil
 17 Procedure, taken before me, Diane M. Stevenson, a
 18 Registered Professional Reporter and Notary
 19 Public in and for the state of Ohio, pursuant to
 20 notice, at University Hospitals of Cleveland,
 21 11100 Euclid Avenue, Cleveland, Ohio, commencing
 22 at 11:33 a.m., the day and date above set forth.
 23 - - -

24 **PLAINTIFF'S**
EXHIBIT
 25 /

Diane M. Stevenson, RPR, CM
 Morse, Gantverq & Hodge



1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Michael F. Becker, Esq.
4 Howard D. Mishkind, Esq.
5 Becker & Mishkind Co., LPA
6 Skylight Office Tower
1660 West 2nd Street, Suite 660
Cleveland, Ohio 44113

7 On behalf of the Defendant, Dr. Woo:

8 Jerome S. Kalur, Esq.
9 Joseph A. Farchione, Jr., Esq.
10 Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 4411411 On behalf of the Defendant,
12 Ashtabula County Medical Center:13 Donald H. Switzer, Esq.
14 Weston, Hurd, Fallon, Paisley & Howley
2500 Terminal Tower
15 Cleveland, Ohio 44113

16 ALSO PRESENT:

17 Scott Morrison, Videographer

18 - - -

25
Diane M. Stevenson, RPR, CM
Morse, Gantverq & Hodqe

1 MR. BECKER: Let the record
2 reflect that this is the evidentiary deposition
3 of Dr. Samuel Horwitz in Cleveland at Rainbow and
4 Children's Hospital upon direct examination on
5 behalf of the plaintiffs.

6 Before we begin, may we have a stipulation
7 by counsel that this evidentiary deposition is
8 being taken pursuant to notice, and may we have a
9 stipulation relative to the waiving of any filing
10 requirements of this deposition?

11 MR. KALUR: Well, taking those in
12 order, number one, clearly we are here pursuant
13 to notice; we have a notice. But the notice that
14 we received said that this was to be a videotape
15 deposition, and we have proceeded on the
16 assumption that it would be. We are now here,
17 there is no videotape equipment, and I have
18 ordered videotape equipment for my cross-
19 examination.

20 So to the extent that we received notice, I
21 agree. To the extent that I think it was
22 defective notice, I also note that for the
23 record.

24 The second question, we have no problem with
25 waiving the filing requirement.

1 MR. SWITZER: I agree with
2 Mr. Kalur's observations.

3 MR. BECKER: The record should
4 further reflect that Dr. Horwitz is being offered
5 strictly as a subsequent treating physician and,
6 as such, as a fact witness, and as an expert with
7 respect to Matthew's neurological condition,
8 likely future problems that Matthew will
9 encounter, and life expectancy.

10 The record should reflect that we are not
11 offering him as a liability expert regarding the
12 specific timing of any event that caused
13 Matthew's brain damage. This doctor has not
14 reviewed any of Matthew's records from ACMC, and
15 has not been provided with any of the testimony
16 from care-givers of ACMC to adequately formulate
17 any opinion on the timing of the hypoxic ischemic
18 encephalopathy.

19 If the defense, the record should reflect,
20 intends to ask him questions about causation and,
21 specifically, timing, the notice is given that we
22 are going to seek, without waiving our objections
23 thereto, to conduct cross-examination of
24 Dr. Horwitz of any of the opinions, if any, that
25 he chooses to give.

1 MR. KALUR: Well, you should be on
2 notice right now that we don't agree in any way
3 with your concept that there is some kind of a
4 special designation of a treating physician who
5 you can ask limited expert questions of. We told
6 you that at the deposition of Dr. Horwitz last
7 week; it is on the record. You are fully of
8 notice on that.

9 You could have applied to the court if you
10 want your novel concept of limitation of an
11 expert ruled upon by the Judge for today.

12 We will object to any effort by you to
13 cross-examine this witness. You have given us
14 at least three different opinions that he has
15 rendered in a report, including the term
16 "perinatal asphyxia" which carries a temporal
17 relationship to an event.

18 We consider your position to be without
19 merit legally and will proceed as if this were a
20 deposition of an expert who happens to be a
21 treater, and that is exactly what we consider it
22 to be.

23 MR. SWITZER: I join in
24 Mr. Kalur's objection. And I also disagree with
25 basically everything you said as far as the use

1 of Dr. Horwitz.

2 MR. BECKER: That's fine.

3 - - -

4 SAMUEL J. HORWITZ, M.D.

5 A witness, called for examination by the
6 Plaintiffs, under the Rules, having been first
7 duly sworn, as hereinafter certified, was
8 examined and testified as follows:

9 DIRECT EXAMINATION

10 BY MR. BECKER:

11 Q. Doctor, would you state your full name for us,
12 please.

13 A. My name is Samuel J. Horwitz.

14 Q. What is your occupation, sir?

15 A. I am a pediatric neurologist.

16 Q. What is pediatric neurology?

17 A. Pediatric neurology is a medical field devoted to
18 the diagnosis and treatment of children with
19 disorders of the brain, spinal cord, nerves and
20 muscles.

21 Q. Doctor, you are the treating pediatric
22 neurologist for Matthew Layman; is that correct?

23 A. That is correct.

24 Q. Would you affirm for the record and for the
25 ladies and gentlemen of the jury if you have a

1 desire, if any, as to what your role in this case
2 be limited to?

3 MR. KALUR: Objection. Move to
4 strike any answer that may be brought from this
5 question.

6 Q. Go ahead, Doctor.

7 A. Could I have the question again, please.

8 Q. Would you indicate for the record, Doctor, your
9 desire as to what role you would act today as?

10 MR. SWITZER: Objection. Same
11 objection.

12 A. My desire was and still is when I was approached
13 about the Matthew Layman case to confine my
14 opinions to what is wrong with Matthew Layman,
15 what his treatment is, and what his prognosis
16 is. That is what I understood I was going to
17 agree to talk about, and that is all I agreed to
18 talk about. I had no intention of doing more
19 than that.

20 Q. Doctor, what is your business address?

21 A. Rainbow Babies & Children's Hospital, 11100
22 Euclid Avenue, Cleveland, Ohio, 44106.

23 Q. Let's talk a little bit about your educational
24 background. First of all, where did you go to
25 medical school?

1 A. I went to medical school at the University of
2 Cape Town in South Africa.

3 Q. After medical school, I understand you did one
4 year of an internship, and that was also at the
5 University of Cape Town.

6 A. That is correct.

7 Q. After you finished that internship, and before
8 your residency, I understand you practiced
9 medicine; is that correct?

10 A. Yes.

11 Q. Would you explain what that practice of medicine
12 consisted of?

13 A. It was general practice or what would be called
14 family medicine.

15 Q. Then I understand, Doctor, you came to University
16 Hospital here in Cleveland in May of 1962 to
17 begin a residency in pediatrics; is that
18 accurate?

19 A. Yes.

20 Q. Would you describe how long that residency
21 lasted?

22 A. The residency in pediatrics lasted two years and
23 two or three months, I believe.

24 Q. From 1964 until 1967, did you do a fellowship in
25 pediatric neurology?

1 A. Yes.

2 Q. Would you explain to the ladies and gentlemen of
3 the jury what a fellowship is?

4 A. A fellowship is advanced training in a specialty
5 field. For me it was three years of training in
6 the field of neurology, with special emphasis on
7 the practice of child neurology.

8 Q. After you finished the fellowship, what did you
9 then do, Doctor?

10 A. I joined the faculty of Case Western Reserve
11 University School of Medicine.

12 Q. And that apparently was in 1967?

13 A. Yes.

14 Q. Would you bring us up-to-date chronologically
15 from 1967 as to your professional and academic
16 positions held?

17 A. In 1967 I was appointed Assistant Professor of
18 Pediatrics and Assistant Professor of Neurology.
19 I was subsequently promoted to Associate
20 Professor somewhere in the mid-'70s, I don't
21 remember the date. And about three years ago I
22 was promoted to Professor of Pediatrics and
23 Professor of Neurology.

24 Q. And you are licensed to practice medicine in
25 Ohio, of course?

1 A. Yes.

2 Q. Any other states?

3 A. New York.

4 Q. Are you Board certified, Doctor?

5 A. I am.

6 Q. And you are Board certified in what specialties?

7 A. In pediatrics and in neurology, with special
8 competency in child neurology.

9 Q. Would you tell the ladies and gentlemen of the
10 jury what steps you had to undertake to become so
11 certified?

12 A. I had to complete the period of training required
13 by the American Board of my specialty. I then
14 undertook a written examination. And having
15 passed the written examination, was then given an
16 oral examination that applied to both the Board
17 certifications I have.

18 Q. Doctor, have you lectured to other medical
19 professionals around the country?

20 A. Yes, I have.

21 Q. Has that generally been in the field of pediatric
22 neurology?

23 A. Yes.

24 Q. It is true that you have authored many journal
25 articles in the field of pediatrics and/or

1 pediatric neurology?

2 A. That is correct.

3 Q. Have you been a contributing author to any
4 medical textbooks?

5 A. Yes.

6 Q. Do those also deal with pediatrics and/or
7 pediatric neurology?

8 A. Yes.

9 Q. Doctor, are those medical journals that we have
10 referenced, as well as the book chapters, the
11 kind of material that is regularly relied upon by
12 physicians to upgrade their clinical skills?

13 A. Yes.

14 Q. Doctor, we are taking this evidentiary deposition
15 because I understand you are going to be
16 unavailable during the week of trial in this
17 matter. Is that correct?

18 A. That's correct.

19 Q. Would you explain to the ladies and gentlemen of
20 the jury the basis of your unavailability?

21 A. During this next week we are having the accredi-
22 tation of the School of Medicine. There is a
23 commission coming in to review all of the
24 activities of the Case Western Reserve School of
25 Medicine.

1 As acting head of the Department of
2 Pediatrics, I am required to participate in that
3 accreditation process, and have to meet with the
4 various members of the commission.

5 Q. Doctor, before we specifically talk about Matthew
6 Layman, I would like you, for the benefit of the
7 ladies and gentlemen of the jury, to explain some
8 terms that I suspect might be used throughout the
9 balance of this evidentiary deposition.

10 First of all, what is cerebral palsy?

11 A. Cerebral palsy is a sort of general term that
12 denotes a problem primarily involving the motor
13 system of the brain that is nonprogressive,
14 nonworsening, is present from before, during, or
15 shortly after birth, early infancy, and may have
16 additional neurological features, complications,
17 in addition to the motor abnormality.

18 Q. What is epilepsy?

19 A. Epilepsy is a term used for recurrent seizures.
20 It is not a disease, it is just a term used for
21 anybody who has more than one seizure in his
22 life.

23 Q. What does the concept mental retardation mean?

24 A. Mental retardation means mental functioning below
25 the range of normal.

1 Q. What is asphyxia?

2 A. Asphyxia means a lack of oxygen and circulation
3 sufficient to produce an accumulation of acid
4 products in the body or acidosis.

5 Q. What is hypoxic ischemic encephalopathy?

6 A. Well, encephalopathy is a disorder of the brain.
7 "Hypoxic ischemic" means a reduction in the
8 amount of oxygen and a reduction in the amount of
9 circulation. So the terms put together mean a
10 brain disorder due to reduction in supply of
11 oxygen and circulation.

12 Q. All right. Doctor, let's turn to Matthew Layman.
13 I understand your contact with him came about via
14 a consultation request.

15 A. Yes.

16 Q. Doctor, during the course of this evidentiary
17 deposition, I want you to know that you are more
18 than free to review your consultation sheet
19 and/or office records on Matthew before
20 responding on a question.

21 Doctor, I also want you to know that, in
22 case I forget to ask you through the balance of
23 my questioning, I am asking you for your opinion
24 within a reasonable degree of medical
25 probability.

1 A. Right.

2 Q. When did you first have contact with Matthew
3 Layman?

4 A. My contact was on August 20. I will try to find
5 the consultation sheet. I have it.

6 It is August 20, 1992.

7 MR. BECKER: Why don't we go off
8 the record and I will mark this as an exhibit.

9 (Thereupon, Plaintiffs' Exhibit 1 was marked
10 for identification.)

11 MR. BECKER: We can agree to
12 substitute for this highlighted one. I will make
13 a photocopy, I will do that. I will represent
14 that I will do that.

15 MR. SWITZER: Sure.

16 BY MR. BECKER:

17 Q. Doctor, handing you what has been marked as
18 Plaintiffs' Exhibit 1, would you identify that
19 for us, please?

20 A. This is a copy of the consultation that was
21 carried out by me on August 20, 1992.

22 Q. I don't recall if I asked you, did you tell us
23 who specifically requested the consult, which
24 physician?

25 A. It was requested by Dr. Watts, Catherine Watts.

1 Q. Who is Dr. Watts?

2 A. Dr. Watts is a member of the Department of
3 Pediatrics. She is in the division of
4 neonatology.

5 Q. Is she an attending physician at this
6 institution?

7 A. Yes.

8 Q. Was she, in fact, the physician in charge of
9 Matthew Layman throughout his hospital stay here?

10 A. I don't think she was the attending throughout
11 the hospital stay.

12 Q. Was she the attending during part of the hospital
13 stay?

14 A. Yes.

15 Q. As a result of getting that consultation request,
16 what, if anything, did you then do?

17 A. I requested that the neurology resident who is
18 working with me carry out the review of the
19 records that were available and do the
20 examination, and then, when he was ready, present
21 the case to me. And I examined the child.

22 Q. What did that examination consist of, Doctor?

23 A. The examination consisted of really looking at
24 the baby and checking the baby's movements, eye
25 movements, doing the reflexes. The baby was

1 obviously in an incubator and would not have been
2 removed from that site.

3 Q. After doing a physical examination, what then did
4 you do?

5 A. I reviewed the EEG that had been taken, the CT
6 scan, made recommendations, and added a note to
7 the neurology resident's note.

8 Q. So did you concur with the impression of the
9 resident?

10 A. Yes.

11 Q. What does the concept, at least on a consultation
12 sheet, of "impression" mean in lay terms? Is
13 that like a diagnosis?

14 A. Impression is a little bit -- diagnosis tends to
15 mean more definitive, saying "This is what it
16 is."

17 Impression is more preliminary, "This is
18 what I think it is likely to be, or possibly."
19 So often impression may have one item, or may
20 have six items if the physician is at that point
21 not sure what the specific diagnosis was.

22 Q. What was your impression, Doctor, at this time of
23 the consultation?

24 A. That Matthew was suffering from hypoxic ischemic
25 encephalopathy.

1 Q. Did you so note that on Plaintiff's Exhibit 1?

2 A. I did.

3 Q. Did you note the severity of that?

4 A. I did.

5 Q. What severity was that?

6 A. Moderately severe.

7 Q. What did you base that on, Doctor, his --

8 A. I based it on, primarily, the neurological
9 picture, and certainly influenced by the EEG, in
10 addition.

11 Q. I guess I forgot to ask you to define what an EEG
12 is.

13 A. Well, EEG is an abbreviation for an
14 electroencephalogram, which is a test that
15 measures the electrical activity emanating from
16 the brain itself.

17 Q. Doctor, you described the severity as moderate to
18 severe. Are you familiar with any studies by
19 Sarna?

20 A. Yes, I am.

21 Q. Can you put the severity in terms of a Sarna
22 scale for us?

23 A. Well, a Sarna scale has 1, 2 and 3 levels of
24 severity, and I would have put this somewhere
25 between a 2 and 3.

1 Q. What was your suggested medical management at
2 this point?

3 A. I suggested that the CT scan be repeated the next
4 day, that the EEG be done again, and I suggested
5 continuation of the phenobarbital that had been
6 started to be used for, primarily, sedating the
7 baby.

8 Q. Was your plan to follow this child on a daily
9 basis?

10 A. My plan was to follow, not necessarily on a daily
11 basis.

12 Q. At this time, was Dr. Watts the attending
13 physician?

14 A. Yes.

15 Q. Doctor, can you estimate for me how many times
16 you personally saw Matthew Layman during the
17 balance of that hospitalization at Rainbow &
18 Children's, approximately?

19 A. I can't tell you exactly. I would say I probably
20 saw him half a dozen times in the first ten days
21 to two weeks. And then maybe two or three times
22 I went by and saw him or talked with the family
23 when he was transferred out of the neonatal
24 intensive care unit.

25 Q. Would you describe in very general terms the

1 clinical course during your management of him or
2 consultation services?

3 A. Well, his course, in general, was one that was
4 unfavorable. He required a great deal of medical
5 care. He had trouble with feeding, eventually
6 required placement of a gastrostomy. He had very
7 poor suck. He had seizures.

8 He had a long hospitalization here.

9 Q. Did you come to what is known as a preliminary
10 diagnosis within a reasonable degree of medical
11 probability?

12 A. I did.

13 Q. What was that, sir?

14 A. The diagnosis, the diagnosis very early on?

15 Q. Towards the end of the course of his
16 hospitalization.

17 A. The diagnosis is that Matthew suffered from brain
18 damage as a result of hypoxic ischemic
19 encephalopathy.

20 Q. Doctor, after Matthew was discharged, I
21 understand that you became his attending
22 physician; is that correct?

23 A. It is only correct to the extent I am attending
24 physician for his neurologic problems.

25 Q. How did that come about?

1 A. Usually when we consult and the baby does have
2 permanent abnormality or a possibility of a
3 permanent abnormality, the physician who
4 consulted will generally follow that baby for
5 that specific purpose if it is deemed necessary.

6 Arrangements were made by the neonatologists
7 with the family to follow up with me.

8 Q. You have continued to see Matthew Layman on an
9 outpatient basis?

10 A. Yes.

11 Q. Physically, where does that take place when you
12 see Matthew in an outpatient basis?

13 A. I see him in either of two sites. Either I see
14 him here at University Hospitals in the
15 ambulatory facilities, or I see him in the
16 Rainbow Subspecialty Center at the Parkway
17 Medical Building in Beachwood.

18 Q. Would you estimate for us how often you have seen
19 Matthew since his discharge, approximately?

20 A. Only probably about eight times, six or eight
21 times.

22 Q. Would you describe for the ladies and gentlemen
23 of the jury Matthew's present physical and mental
24 condition.

25 A. Matthew Layman is mentally retarded. He has

1 cerebral palsy with tightness or what we call
2 spasticity of all four extremities. He has an
3 uncontrolled seizure disorder. He seizes every
4 day, for practical purposes.

5 He is fed through a gastrostomy tube button
6 -- gastrostomy button. He does not feed
7 orally. He is totally dependent.

8 Q. Doctor, let's take them one at a time. You
9 mentioned mental retardation. Can you quantify
10 that in terms of mild or moderate or severe?

11 A. I don't have -- oh, okay, I would call this in
12 the severe range.

13 Q. What is the basis of that opinion?

14 A. My observations of him, as well as the history
15 from the family of what he can and cannot do.

16 Q. The cerebral palsy you described as spastic
17 quadriplegia?

18 A. Quadriparesis, yes, yes.

19 Q. What is the difference between quadriparesis and
20 quadriplegia?

21 A. "Quad" is four, four limbs. "Plegia" generally
22 means a complete paralysis. "Paresis" means more
23 of a weakness than a complete paralysis.

24 The terms are used somewhat interchangeably.

25 Q. And you already described the seizure disorder.

1 You noted that he does not feed himself,
2 that he is on a G tube. What is a G tube,
3 Doctor?

4 A. Well, it is a gastrostomy tube. A small hole is
5 made through the abdominal wall into the stomach,
6 and either a tube or a button-like device is
7 inserted in there, and feeding is done through a
8 tube that is plugged into that opening.

9 Q. Why is it necessary for him to be fed through a G
10 tube?

11 A. Because of the damage to his brain, his
12 swallowing mechanism is severely impaired, so he
13 is unable to take the food and would probably
14 choke if we did try to feed him to any
15 significant degree by usual oral feeding.

16 Q. Doctor, what is the relationship of Matthew's
17 present condition, the profound mental retarda-
18 tion, the cerebral palsy, the uncontrollable
19 seizure disorder and the dependency on a G tube,
20 in relation to the hypoxic ischemic injury that
21 you have earlier described?

22 A. The items you mentioned that affect Matthew are
23 the direct result of the hypoxic ischemic
24 encephalopathy.

25 Q. Doctor, do you have any opinion within a

1 reasonable degree of medical certainty whether
2 these conditions that you have just described are
3 permanent in nature?

4 A. I do.

5 Q. Are they?

6 A. They are permanent in nature.

7 Q. Will Matthew have to live with them for the rest
8 of his life?

9 A. That is correct.

10 Q. Do you have an opinion, Doctor, whether Matthew
11 will ever walk?

12 A. I have an opinion.

13 Q. And that is?

14 A. He will never walk.

15 Q. And the basis of that opinion?

16 A. The basis of that opinion is an evaluation of his
17 present neurologic condition, the severity of his
18 cerebral palsy, and the experience with similar
19 patients that we have had.

20 Q. Do you have an opinion whether he will ever talk,
21 Doctor?

22 A. I have an opinion.

23 Q. That is?

24 A. He will never talk.

25 Q. And the basis of that opinion?

1 A. The same as I gave for walking.

2 Q. I think you already indicated that he will never
3 be able to live independently. Is that accurate?

4 A. That is absolutely accurate.

5 Q. And he will need lifetime care?

6 A. For as long as he lives, that's correct.

7 Q. Will the family need assistance for his lifetime
8 care?

9 A. Yes.

10 Q. Incidentally, Doctor, you have had an opportunity
11 to work with the Laymans and see them interact
12 with their child. Would you describe their level
13 of commitment to their son, from your
14 observations?

15 A. From my observation, they have been a very
16 devoted, loving, and committed family who have
17 done the best that they could for their child.

18 Q. Doctor, do you have any understanding as to
19 whether orthopedic surgery is presently scheduled
20 for Matthew?

21 A. I don't know that it is immediately scheduled for
22 him.

23 Q. Do you have an opinion whether or not he will
24 likely need orthopedic surgery, first of all?

25 A. I have an opinion.

1 Q. What is that?

2 A. My opinion is that he will likely need orthopedic
3 surgery in the future.

4 Q. Can you be more specific as to what the need will
5 be?

6 A. It is my opinion that he will require some tendon
7 releases.

8 Q. What does that mean?

9 A. Well, what it really means is that you cut the
10 tendons, the ends of the muscle, to loosen up the
11 tightness. What you are really doing is a
12 destructive operation.

13 Q. Why do you want to do that?

14 A. Because the amount of tightness is so severe that
15 two things are going to happen. One is he is
16 going to get contractures, which means that the
17 limbs will be in a bent position permanently,
18 which is very difficult to nurse. And it is more
19 than likely that with this degree of tightness,
20 if he doesn't have release, he will eventually
21 dislocate his hips.

22 Q. Is Matthew capable of experiencing pain?

23 A. Yes.

24 Q. Now, in addition to the tendon release, any other
25 type of orthopedic surgery that is likely?

1 A. Well, he has a severe scoliosis.

2 Q. What does that mean?

3 A. Curvature of the spine. And I am not managing
4 the scoliosis, but, from what I have seen, I
5 think it is probable that he will have to have
6 some surgical stabilization sometime in the
7 future.

8 Q. What would be the purpose of that, based on your
9 understanding, Doctor?

10 A. Well, if the curvature becomes too severe and
11 fixed, it is not only difficult to physically
12 handle them, but it starts compromising the lung
13 function. You can't breathe properly because
14 your chest is curved, so you are more likely to
15 get pneumonias and problems with ventilation.

16 Q. So the surgery is to prevent that?

17 A. Yes.

18 Q. And the likely reason -- you have explained the
19 reason for the need for the tendon release. What
20 is the explanation, Doctor, for the development
21 of the scoliosis in Matthew?

22 A. Scoliosis develops in Matthew and children like
23 Matthew because with the abnormal degree of
24 muscle tightness there is a stronger pull of the
25 muscles on one side of the body than the other,

1 and they are not balanced. It simply pulls the
2 spine into a curve.

3 Q. Okay. Doctor, I want to turn now to my final
4 topic, which is life expectancy of Matthew. Do
5 you have an opinion, Doctor, based on your
6 education, training, experience, within a
7 reasonable degree of medical probability what the
8 life expectancy of Matthew will be?

9 A. I do.

10 Q. What is that opinion, sir?

11 A. My opinion is that Matthew will probably live
12 into the early 20s.

13 Q. What is the basis of that opinion, Doctor?

14 A. The basis of that opinion is my evaluation of
15 Matthew's current status, his medical history, my
16 experience with other children of similar type.

17 MR. BECKER: We will take a
18 break.

19 (Thereupon, a short recess was taken.)

20 BY MR. BECKER:

21 Q. Doctor, relative to the G tube, do you have an
22 opinion whether Matthew will ever be able to feed
23 himself?

24 A. I have an opinion.

25 Q. What is that?

1 A. He will never be able to feed himself
2 independently.

3 Q. And the basis of that opinion?

4 A. My evaluation of the severity of his neurological
5 deficits.

6 Q. And, of course, will he need physical therapy
7 after the surgeries that we have talked about?

8 A. Yes.

9 Q. Doctor, if Matthew had not sustained this hypoxic
10 ischemic injury, do you have an opinion whether
11 or not he would have lived a normal life?

12 MR. KALUR: Objection. How would
13 this Doctor -- how would he be qualified to know
14 whether Matthew would have lived a normal life?

15 MR. SWITZER: Objection.

16 Q. If you have an opinion, Doctor.

17 A. I have an opinion.

18 Q. What is it?

19 A. My opinion is that aside from his neurologic
20 condition, if we took that away, Matthew appears
21 to be a normal child. So his chance of a normal
22 life are probably no greater or lesser than
23 anyone else.

24 I couldn't answer whether he could get
25 cancer, or anything any other person could get.

1 MR. BECKER: All right. I have
2 nothing further.

3 MR. KALUR: We will have to set up
4 for the videotape portion of the deposition.

5 - - -

6 (Thereupon, Samuel Horwitz, M.D. was duly
7 sworn for the benefit of the videotape record.)

8 (Thereupon, Defendants' Exhibit A was marked
9 for identification.)

10 - - -

11 CROSS-EXAMINATION

12 BY MR. KALUR:

13 Q. Dr. Horwitz, now that the videotape equipment has
14 arrived and we are on the videotape, I would like
15 to show you what has been marked as Defendant's
16 Exhibit A, Defendant Woo's Exhibit A, and ask you
17 if you can identify that document for the jury.

18 A. Yes, I can.

19 Q. Would you tell us what that document is?

20 A. This is a letter from me to Mr. Michael Becker
21 relating to Matthew Layman, and it was dated
22 December 12, 1994.

23 Q. Is that an exact copy of the copy you maintained
24 in your file after you sent the original to
25 Mr. Becker?

1 A. Yes.

2 Q. Does it bear your signature, that copy?

3 A. Yes. I will just check my records to be sure on
4 that. Yes.

5 Q. Perhaps you could look at your copy. I have a
6 couple of questions to ask you off the copy that
7 we marked as an exhibit here.

8 The letter starts out, "Dear Mr. Becker: In
9 reply to your letter of December 2, 1994," and
10 then it goes on to say some other things. Would
11 you give me Mr. Becker's letter of December 2,
12 1994 from your file, please.

13 A. It should be in here; I can't locate it at the
14 moment.

15 Q. Are there any other letters from Mr. Becker in
16 there, from his office or from him?

17 A. There is a letter from Mr. Becker December 22,
18 1993 asking for a copy of my medical records.

19 Q. This letter that provoked your letter of
20 December 12, his letter of December 2, 1994 is
21 missing from your file?

22 A. I don't see it in here. I assume it is not in
23 here.

24 Q. Are you aware of how it got out of that file?

25 A. No.

1 Q. It was supposed to go in that file, wasn't it?

2 A. It should have been in that file.

3 Q. Well, is it fair to say that you were responding,
4 by your letter of December 12, 1994, to questions
5 that were raised in that missing December 2, 1994
6 letter?

7 A. That is correct.

8 Q. And you have three specific answers to,
9 presumably, what you were asked in that letter?

10 A. That's correct.

11 Q. And the first two you certainly testified to on
12 direct, the life expectancy and the degree of
13 disability of the Layman boy; is that right?

14 A. That's correct.

15 Q. And Matthew's diagnosis in No. 3 of hypoxic
16 ischemic encephalopathy, you also testified to
17 that on direct?

18 A. Yes.

19 Q. But you did not testify to what is in the last
20 sentence in your report to Mr. Becker, did you,
21 on direct examination?

22 A. I did testify that Matthew suffered hypoxic
23 ischemic encephalopathy that caused the
24 abnormalities.

25 Q. But you did not say that it was a result of

1 perinatal asphyxia?

2 A. I don't think he asked me that.

3 Q. Well, that's right, he didn't ask you that.

4 A. Right.

5 Q. So you couldn't answer it.

6 A. That's correct.

7 Q. But "perinatal" is a word, a medical word, that
8 implies time parameters; does it not?

9 A. It implies time parameters.

10 Q. And time parameters in this case, as you used it,
11 when the hypoxic ischemic injury to the brain was
12 received by the Layman child or fetus at that
13 time before birth?

14 A. It is used by me to indicate in my diagnosis that
15 the asphyxia occurred somewhere proximate to the
16 delivery, within a couple of days of the time of
17 labor. I am only using it in the widest sense.

18 Q. Now, a couple of days before, for the record,
19 that is 48 or more hours before birth where you
20 are beginning that period; is it not?

21 A. I am beginning that period around 48 hours before
22 birth.

23 Q. Dr. Horwitz, in your experience, you have been
24 called upon by lawyers, including me, to attempt
25 to determine and render your opinion concerning

1 when hypoxic ischemic injury has been received to
2 an unborn child or to a child after birth; have
3 you not?

4 A. I have.

5 Q. And that isn't something that has happened once
6 or twice, it has happened many, many times; has
7 it not?

8 A. That's correct.

9 Q. And the lawyers that have asked you to render
10 opinions on that subject after reviewing medical
11 records are both lawyers who represent an injured
12 child in the family and lawyers who are defending
13 the doctor; isn't that true?

14 A. That's correct.

15 Q. What about your study of pediatric neurology, as
16 a science, enables you to be able to render
17 opinions on the timing subject of an injury like
18 hypoxic ischemic encephalopathy?

19 A. We are just talking in a general sense?

20 Q. Yes, sir.

21 A. I am just asking because, as I indicated earlier,
22 in this particular case I had no intention of
23 addressing those issues.

24 My training, my knowledge of clinical
25 picture, what my understanding of neuroimaging

1 studies, all of those factors, the history of
2 labor and delivery, everything has to be put
3 together to enable me to give any opinion
4 whatsoever in that context.

5 Q. Well, what allows you to give opinions in that
6 context as a pediatric neurologist, as opposed to
7 an obstetrician or a hematologist or any other
8 specialty of medicine? That is what I am getting
9 at.

10 What is unique about pediatric neurology, if
11 anything, to determining the time of a hypoxic
12 ischemic insult to a child?

13 A. I don't think there is anything unique to a
14 pediatric neurologist. I think a neonatologist
15 who looked at -- or perinatologist who looked at
16 all of the facts and had the knowledge of
17 neurologic picture and as much as we know about
18 neuroimaging monitoring would have to look at all
19 of those factors. You don't have to be a
20 pediatric neurologist to do it.

21 Q. Well, you said that you didn't wish to become
22 involved in this case in rendering a timing
23 decision. Am I characterizing that properly?

24 A. If I said that, I didn't imply it. I implied I
25 didn't want to be involved in this case in

1 anything other than discussing what is wrong with
2 Matthew, what caused it, and what his prognosis
3 is. I did not at any time want to address the
4 other issues because I did not review the medical
5 records in total context, and I had no intention
6 of doing so.

7 Q. Well, you did address it insofar as you said the
8 injury to his brain was, quote, "the result of
9 perinatal asphyxia," end quote, didn't you?

10 A. Well, I did say that.

11 Q. Well, so to that extent you did address the
12 timing?

13 A. I only addressed the timing to the extent that I
14 am saying it is within the framework that I gave
15 you of 48 hours. And I don't need all that other
16 stuff to say that.

17 Q. Well, you did review these records, they were
18 made available to you in this case, the
19 University Hospital records, weren't they?

20 A. The University Hospital records were made
21 available to me specifically at my request so I
22 could look at the first couple of days of Matthew
23 to refresh certain items in my memory.

24 I have no intention of going through the
25 whole University Hospital record, and I haven't.

1 Q. Just for comparison purposes, of course, you do
2 charge and will be charging Mr. Becker for your
3 time today that we are taking up?

4 A. Right.

5 Q. What is your hourly charge, Dr. Horwitz?

6 A. I will charge Mr. Becker \$300 an hour.

7 Q. Thank you, sir.

8 Would you explain for the jury -- we have
9 used the term "perinatal asphyxia," and you have
10 used the term "asphyxia," you have defined that,
11 but could you explain, does asphyxia to an unborn
12 child, a fetus, does that come in two varieties
13 like partial and total?

14 A. Yes.

15 Q. And have you learned in your studies and your
16 experience whether or not different portions of
17 the fetal brain are injured by the two different
18 types of asphyxia, partial or total?

19 A. Yes, that is part of the experimental evidence
20 that I have looked at would indicate that.

21 Q. What portions of the brain are injured when there
22 is total asphyxia versus what portions of the
23 brain are injured when there is partial asphyxia?

24 MR. BECKER: Excuse me, Doctor.

25 Let me just enter an objection so I don't

1 continue to interrupt Mr. Kalur through this line
2 of questioning. It is obvious to me where he
3 intends to go and attempt to make you his
4 witness.

5 To reiterate for the record, Dr. Horwitz is
6 first and foremost Matthew's treating pediatric
7 neurologist. He was not retained to testify as
8 an expert on the issue of causation. He was not
9 retained to provide specifically expert opinion
10 on the exact timing of when the insult occurred.

11 Mr. Kalur's attempt to turn this doctor into
12 his expert witness is inappropriate, and we
13 object to that, we move to strike. And at this
14 point we would ask Mr. Kalur for a continuing
15 objection so I don't continue to interrupt your
16 cross-examination.

17 MR. KALUR: Yes, we will give you
18 a continuing objection.

19 Q. (Continuing.) Now, I will repeat my question,
20 Doctor. The question was: What type of injury
21 do you see if there is partial asphyxia to the
22 brain versus what do you see when there has been
23 total asphyxia of the brain in the period before
24 birth?

25 A. What we are talking about is experimental model?

1 Q. Yes, go ahead.

2 A. Okay. The total asphyxia frequently does severe
3 damage to brain stem nuclei. It is a more
4 selective asphyxia.

5 The partial asphyxia tends to cause more of
6 a parasagittal injury affecting gray and white
7 matter of the cerebral hemispheres.

8 Q. Matthew Layman's injuries, is it a partial type
9 or a total type?

10 A. There is no way I can answer that question. I
11 don't know what it is.

12 Q. Well, let me put it this way: Is this any
13 clinical evidence of brain stem injury in this
14 case?

15 A. There is no evidence of primary brain stem injury
16 in this case.

17 Q. And, as you said, experimentally, models, brain
18 stem injury is associated with total asphyxia?

19 A. Correct.

20 Q. And the injuries to this child's brain, I think
21 you told us the other day, are white matter
22 injuries, aren't they?

23 A. Well, they are gray matter injuries, as well.

24 Q. Some gray matter, too?

25 A. Sure.

1 Q. Would you explain for the jury, Dr. Horwitz, what
2 level of oxygen deprivation is necessary or has
3 been determined necessary experimentally in order
4 to create partial asphyxial brain damage?

5 A. Again, we are talking theoretically here --

6 Q. Yes.

7 A. -- experimentally?

8 Q. Yes.

9 A. Experimentally, you need probably more than 90
10 percent reduction in oxygen supply.

11 Q. In other words, the fetus's normal oxygen supply,
12 we will say in this case 100 percent -- which is
13 normal, in other words, you are not getting pure
14 oxygen 100 percent, but the 100 percent level
15 that the fetus usually gets when the mother is
16 still carrying the baby around -- has to be cut
17 down by 90 percent or more before brain damage
18 begins to ensue; is that right?

19 A. That's correct.

20 Q. And secondly, we just talked about the severity
21 of oxygen deprivation. In order to cause brain
22 injury, it also requires duration of time. In
23 other words, a few seconds of 90 percent cutoff
24 doesn't do the damage; it has to be over a
25 prolonged period of time. Would you agree?

1 A. That's correct.

2 Q. Would you agree that experimentally that has been
3 shown to be at least a half an hour at 90
4 percent?

5 A. Are we talking about partial?

6 Q. Yes, partial?

7 A. Yes.

8 Q. And the experimental studies you have referenced,
9 among others, are the Myers monkey studies,
10 aren't they?

11 A. Yes.

12 Q. Can we agree, sir, that partial asphyxia, in
13 other words, 90 percent or more, and lasting at
14 least a half an hour or more, can be referred to
15 as serious or significant asphyxia, in other
16 words, it would put the brain at risk for injury?

17 A. Yes.

18 Q. Now, based on your experience as a physician,
19 though, with your knowledge of what you have had
20 to learn as a pediatrician about the labor
21 process, the fetus even during labor is not
22 subject to constant deprivation at 90 percent;
23 there must be periods of alleviation. Wouldn't
24 you agree?

25 A. It would depend on the circumstance.

1 Q. Now, this serious or significant asphyxia, as we
2 have just defined it, the 90 percent or more for
3 more than a half an hour, does that cause brain
4 damage to occur when that happens during labor
5 alone, or does it happen -- will the brain damage
6 occur if those circumstances exist any time
7 before labor begins?

8 A. I am not sure I understand the question.

9 Q. Well, if there is a 90 percent or more cutoff of
10 oxygen supply, and it lasts long enough -- I
11 mean, this may seem obvious to you, but maybe not
12 to us -- can you have damage to the brain whether
13 or not labor is going on as long as those
14 conditions exist?

15 A. Right. And we are talking in a general
16 theoretical sense?

17 Q. Yes.

18 A. I am not addressing this case?

19 Yes, it doesn't matter when it happens, from
20 that perspective.

21 Q. In fact, is it not true that most of the hypoxic
22 ischemic brain injuries that newborns suffer are
23 not the result of events that occur during labor?

24 MR. BECKER: Objection.

25 A. Could I have that question --

1 Q. Is it not accurate that most of the hypoxic
2 injuries that are diagnosed hypoxic ischemic
3 brain injuries to newborns did not occur during
4 the labor period, but at some period before the
5 labor period?

6 A. That's probably correct.

7 MR. BECKER: Move to strike.

8 Q. Would you agree, Doctor, that, for example, with
9 reference to the concept of Apgar scoring,
10 Virginia Apgar scoring, the jury will have heard
11 that have by now, but with respect to the Apgar
12 score, even a score of ten minutes, which is 3 or
13 less, results in only a five percent incidence of
14 cerebral palsy?

15 MR. BECKER: Objection.

16 Q. Is that correct?

17 A. That is correct.

18 MR. BECKER: Move to strike.

19 Q. In this case, of course, you are aware that the
20 Layman child's five-minute score was what?

21 A. As far as I recall, it was 3. I haven't seen the
22 actual Apgar scores. There is an extrapolation I
23 have from the University Hospital chart in my
24 records. I didn't look at the Ashtabula chart.

25 Q. Well, assuming that is true, that would mean that

1 just based on Apgar scores alone, and statistics,
2 there would be a 95 percent chance that Matthew
3 Layman wouldn't have cerebral palsy?

4 A. That is correct.

5 MR. BECKER: Objection. Move to
6 strike.

7 Q. How valid are Apgar scores, in themselves, as a
8 prognosticating tool as to what will happen to
9 the child in the future if they are low?

10 A. Well, let's get it straight. Apgar scores
11 designed by Dr. Apgar were not intended as a
12 measure of prognosis. They have been used to try
13 and determine that.

14 Apgar scores were designed to determine
15 whether a child is in need of help at birth.
16 That was the major compelling reason behind it.

17 It has been used for other purposes.

18 Q. Now, let's talk specifically about Matthew Layman
19 for a moment. You have told us that, in your
20 opinion, his hypoxic ischemic injury to his brain
21 was incurred sometime during the perinatal
22 period. I take it you can't narrow it down any
23 closer than just that perinatal period?

24 A. I have not reviewed the records in a manner that
25 would enable me to even address that issue beyond

1 the fact that I said it is around that perinatal,
2 which I defined as the 48-hour. But in the
3 absence of the records, I didn't intend to and I
4 can't determine that.

5 Q. The records you haven't reviewed are the records
6 at Ashtabula Hospital and the antepartum records
7 of Dr. Woo; is that right?

8 A. The antepartum records -- who is Dr. Woo?

9 Q. Dr. Woo is the obstetrician who I represent.

10 A. Okay. I have reviewed nothing prior to records
11 that began with University Hospital staff.

12 Q. All right. Did Mr. Becker ever offer while he
13 was writing this letter to you of December 2, or
14 at any of your conversations with him since that
15 time, to allow you to review the birthing records
16 and the obstetrician's records so that you could
17 formulate a more specific opinion on time?

18 A. Let me make this very clear. When Mr. Becker
19 asked me first and foremost for records, we
20 submitted what we had. When he called and wanted
21 to meet with me, I made it very clear, number
22 one, I didn't want to testify. I would only do
23 my obligation as a treating physician.

24 Number two, I preferred not to be an expert
25 or anything else, and I wanted to be subpoenaed.

1 And, in fact, he must have forgotten, because had
2 I dug in, I wouldn't have come without a
3 subpoena.

4 I also told him I wasn't going to review
5 any record, and I was not going to act as an
6 expert or adviser or anything else, and I have
7 stuck to that piece of what I told him I would
8 do.

9 The only exception was that I should have
10 looked for a subpoena because I did not want to
11 be an expert in this case.

12 He never offered the records, I didn't ask
13 for them, and had he offered them, I would have
14 refused to look at them.

15 Q. The earlier records?

16 A. That's correct.

17 Q. You have met with Mr. Becker before today?

18 A. That is correct.

19 Q. You have in other cases reviewed medical records
20 even when you are a treating doctor?

21 A. That's correct.

22 Q. Could you explain for me why in this case you
23 have refused to do that?

24 A. For a number of personal reasons I didn't want to
25 do it.

1 Q. Well, what personal reasons?

2 A. I would prefer not to answer that question.

3 May I just say while you are looking at
4 that, to dispel any misunderstanding, any
5 personal issues I have do not relate to the
6 Laymans as people. My reluctance in this case
7 has nothing to do with the Layman family.

8 Q. Could you explain for the jury what the
9 difference would be between an acute hypoxic
10 ischemic event and a chronic one?

11 MR. BECKER: Objection.

12 A. Well, to me, an acute hypoxic ischemic event
13 would be something that happens over a period of
14 minutes to hours. How many hours is hard to
15 say. I mean, I suppose, let's say, 6, 8, 10, 12
16 hours. A chronic one is something that might be
17 going for days, weeks, or even months.

18 Q. In this case are you able to formulate a view
19 whether this was chronic or acute?

20 MR. BECKER: Same objection.

21 A. I did formulate a view in this case?

22 Q. Was this a chronic or an acute injury, in your
23 opinion?

24 A. In my opinion, it was an acute injury.

25 Q. Is there any way to determine whether it was an

1 acute injury superimposed on a chronic one?

2 A. I think you can determine that.

3 Q. Could you determine that if the chronic event had
4 only lasted a week or two before the birth?

5 A. I think you could determine that.

6 Q. How would you determine?

7 A. Well, if the chronic event was of sufficient
8 degree to have caused damage, you should have
9 seen the evidence of that damage on the
10 neuroimaging study.

11 Q. That means the CAT scan?

12 A. Right. I will leave it at that.

13 Q. Did you see or read about when you were reading
14 the official interpretations of the CAT scan
15 something on there that convinced you that we
16 were dealing with an acute hypoxic ischemic
17 incident?

18 A. What I saw on the CAT scan, from my view and my
19 personal look at it, and, again, looking with a
20 neuroradiologist that looked at this case, to me
21 the understanding was that the findings were
22 entirely consistent with an acute event with no
23 evidence of any chronic underlying event of
24 significance.

25 Q. Now, Dr. Horwitz, if an unborn child has a

1 hypoxic ischemic-caused injury to the brain that
2 predates labor, in other words, it existed before
3 the labor began, and that child goes through
4 labor, can the child show a normal autonomic
5 nerve function on the monitor strip by means of
6 variability?

7 MR. BECKER: Objection. You are
8 not consistent with the facts of this case.

9 A. As I understand the question, just so I get it
10 right, you said this would be a child that has
11 undergone hypoxic ischemic damage prior to the
12 onset of labor?

13 Q. Yes.

14 A. There is damage to the brain?

15 Q. Yes.

16 A. And in that child with a pre-existing damaged
17 brain, could you go through labor and show normal
18 monitoring strips?

19 Q. No, normal variability, in other words, the
20 autonomic nervous system as showing as being
21 normal by means of variability.

22 MR. BECKER: Objection.

23 A. Well, I am not an expert on monitoring. I don't
24 look at the strips. But there is no reason why a
25 damaged child's autonomic system can't behave

1 normally and can't behave abnormally. It can be
2 either/or.

3 MR. BECKER: Move to strike.

4 Q. Let me phrase it a different way, then, Doctor.

5 Children that have cerebral palsy caused by
6 an hypoxic ischemic event before labor, can they
7 exhibit an intact autonomic nervous system during
8 labor as determined by variability of the heart
9 rate?

10 MR. BECKER: Same objection.

11 A. That is the same question.

12 Q. And I am asking --

13 A. The same answer.

14 Q. Is the answer "absolutely yes"?

15 A. Yes.

16 MR. BECKER: Move to strike.

17 Q. Would the reason you answered that question "yes"
18 be because portions of the brain that are damaged
19 for cerebral palsy are different than the
20 portions that control what is known as the
21 autonomic nervous system?

22 A. Well, you know, you are giving me a very general
23 theoretical question here, and I don't want to
24 give the implication that cerebral palsy has an
25 absolute correlation with very specific areas of

1 brain damage. It can be specific, it can be
2 generalized, it can be a mixture of all sorts of
3 things.

4 But the motor part of the brain, if you want
5 the definition of cerebral palsy which I gave,
6 the motor part of the brain is damaged in the
7 cerebral palsy; the autonomic part may or may not
8 be damaged along with it.

9 Q. You have given me a rather long answer to the
10 question, and I am not sure you have answered
11 it. Let me read you from your deposition, page
12 50, and ask you if you remember giving me this
13 rather short answer to the question.

14 Well, to read it in context, starting at
15 Line 1, "As I understand the question --" This
16 is you asking me this, "As I understand the
17 question, if a child has had in utero brain
18 damage well prior to labor --" and I said, "Yes,"
19 you continued "-- and already has the brain
20 damage and is going to have cerebral palsy later,
21 and that child goes through labor, can it show
22 normal autonomic function?"

23 And I said to you, "You have got it
24 exactly."

25 "Answer: And the answer is absolutely yes,

1 you can have normal autonomic function."

2 Now, the question I just asked you a couple
3 of moments ago, "And the reason is what, because
4 portions of the brain damaged for cerebral palsy
5 are different than the portions that control the
6 autonomic nervous system?"

7 "Answer," your answer, "That is why."

8 Do you still adhere to that?

9 A. Yes, that is what I said just now.

10 Q. And that is the short answer, "That is why,"
11 isn't it?

12 A. Yes.

13 Q. What is the autonomic nervous system, so the jury
14 understands what we have been talking about for
15 maybe five minutes here?

16 A. The autonomic nervous system is a part of the
17 nervous system that controls vital function such
18 as blood pressure, heart rate, bowel motility,
19 perspiration, body temperature.

20 Q. What part of the brain controls the autonomic
21 nervous system?

22 A. Well, it is primarily areas of brain -- areas of
23 cells and nerve tissue located in the
24 hypothalamus, and areas of the medulla, the brain
25 stem, particularly the vagal complex in the brain

1 stem.

2 Q. Are there things you have learned to look for,
3 Dr. Horwitz, in the first hours and days of life
4 after an infant is born with a diagnosis of birth
5 asphyxia to determine whether or not brain damage
6 was incurred during the labor period?

7 MR. BECKER: Objection.

8 A. I am not sure -- could I have the question again?

9 MR. KALUR: I will ask the court
10 reporter if she can repeat it for you.

11 (Record read.)

12 A. As I understand it, you are looking in the first
13 hours of life to see if brain damage has
14 occurred.

15 Q. No, I don't think that is my question. Let me
16 try to simplify it. Have you learned, as a
17 pediatric neurologist trying to make a diagnosis
18 on a child who is born in a depressed condition
19 with low Apgar scores, have you learned under
20 those circumstances to look for various clinical
21 signs and symptoms in order to determine the
22 timing of any brain damage which that child may
23 have suffered?

24 MR. BECKER: Objection.

25 A. There are some symptoms and signs the child has

1 that can give you some indication of when damage
2 has occurred or might have occurred.

3 MR. BECKER: Move to strike.

4 Q. Well, if a child is damaged before birth, say 42
5 to 72 hours before birth, will that child, from
6 your experience, tolerate labor well?

7 MR. BECKER: Objection.

8 Q. If it is the type of damage that is going to
9 cause cerebral palsy later in life and motor
10 retardation?

11 A. It is very variable.

12 Q. Well, how does it vary? Give me the variables.
13 Some of them will, some of them won't; is that
14 what you mean?

15 A. Yes. There are children, infants, who for a
16 variety of reasons you think were damaged an
17 extended period before who may have tolerated
18 labor very well. There are others that don't.
19 It is an either/or.

20 Q. And those that don't, would you say that they
21 would be more susceptible to have difficulty in
22 labor during the period of the second stage when
23 the head is being compressed passing through the
24 birth canal?

25 MR. BECKER: Objection.

1 A. With any honesty I don't know the answer to that
2 question. I have never looked at this specific
3 thing in the second stage. I can't answer that
4 at all.

5 Q. Let's go back to what we talked about earlier on,
6 Dr. Horwitz, that significant, serious partial
7 asphyxia that can occur to a child in utero and
8 cause HIE.

9 During the first 12 hours of life for a
10 child that has had this serious, significant
11 asphyxia that causes brain damage during labor,
12 that is what we are talking about now. Now I am
13 talking about during the first 12 hours of life
14 for such a child, would you expect to see the
15 child be stuporous or comatose?

16 MR. BECKER: Objection. Again,
17 requesting such a general inquiry cannot be
18 applied to this case.

19 A. The majority of infants who are asphyxiated and
20 come out with obvious evidence of depression so
21 that there is an acute problem, most of those
22 infants, if they have hypoxic ischemic
23 encephalopathy, if their depression is severe
24 enough to have caused it, I mean, the whole
25 process is serious enough to have caused death,

1 most of those patients over the next period of
2 time, 12, 24 hours, are going to be stuporous or
3 comatose.

4 MR. BECKER: Move to strike.

5 Q. Please explain to the jury what stuporous or
6 comatose means with respect to infants who
7 receive significant asphyxia so they get brain
8 damage just before birth?

9 A. Well, the word "stupor" -- or comatose means that
10 you are totally unresponsive, for practical
11 purposes, to any stimuli. And "stuporous" means
12 that the individual gets some primitive reactions
13 to stimulation, but otherwise has very impaired
14 reactivity to the environment.

15 Q. Well, don't all of the children who actually get
16 brain damage, as opposed to just getting some
17 asphyxia and not brain damage, but those who get
18 brain damage during labor from asphyxia so that
19 they are going to have cerebral palsy and
20 retardation, that significant, serious asphyxia
21 that we talked about, don't all of them become
22 stuporous or comatose within approximately the
23 first 12 hours?

24 A. No.

25 Q. Would you say -- what percentage would you say

1 do?

2 MR. BECKER: Objection.

3 A. Again, I haven't done a study, and I don't know a
4 specific study. I have seen infants who came out
5 depressed who were resuscitated within a brief
6 period of time, are neither stuporous nor
7 comatose, and those infants have seemed alert,
8 even hyperalert, and then subsequently, 12 hours,
9 24, 36 hours after birth have deteriorated rather
10 dramatically into what is then a stuporous state
11 and done horribly.

12 Let me be clear here that when we are
13 talking about stupor or coma, we are not talking
14 about a child you are just resuscitating at that
15 time, you are talking about a period after you
16 stabilized the resuscitation.

17 Q. We are talking about the first 12 hours is what I
18 am asking you.

19 A. Yes, but what I am saying is the first 12 hours
20 is a period --

21 Q. Yes.

22 A. -- and if you come out of an Apgar of 2, you
23 know --

24 Q. Oh, I see. You mean as opposed to the first few
25 minutes?

1 A. That is what I am trying to say.

2 Q. And would stay in that condition of stuporous and
3 comatose for about 12 hours?

4 A. That is what I said was --

5 Q. Well, you said that most of these kids are in a
6 stuporous or comatose condition, but there are
7 some you are saying that can be this hypertense
8 condition?

9 A. Yes.

10 Q. Hyperirritable, I think you --

11 A. Yes.

12 Q. Now, didn't you tell me -- I can get this out,
13 but didn't you tell me as early as last week 80
14 percent at least are in the stuporous or comatose
15 situation?

16 A. That is what I said.

17 Q. Dr. Joseph Volpe, you are familiar with his
18 textbook Neurology of the Newborn, aren't you?

19 A. Yes.

20 Q. I believe you feel that Dr. Volpe is a person in
21 the field of neurology of the newborn whose
22 opinions must be relied upon?

23 MR. BECKER: Objection.

24 A. His opinions -- I feel that Dr. Volpe's opinions
25 need to be respected, and he is certainly an

1 acknowledged writer and an acknowledged scholar
2 of the newborn. It doesn't mean that we have to
3 agree with everything he writes or says.

4 Q. Well, I didn't ask you if you agreed with
5 everything he wrote. We will get to that. But
6 you do agree that you did tell me at page 98 of
7 your deposition last week, didn't you, when you
8 were under oath, "He is clearly a great expert.
9 It doesn't mean we agree with everything he says,
10 but he is probably the person whose writings are
11 most relied on."

12 A. I would agree that is what I said.

13 Q. In fact, you have testified previously under
14 oath, haven't you, that his work in his book is
15 authoritative?

16 MR. BECKER: Objection.

17 A. If we use -- I always object to the word
18 "authoritative." But if you want to use it, he
19 is the expert writer.

20 Q. Well, we use it in the context that he might say
21 something you might not agree with. All right?

22 A. I only -- seeing as you brought it up, I mean
23 authority often gets interpreted as being the
24 Bible from which there is no deviation from the
25 truth, and I don't think anybody implies that --

1 Q. Well, Doctor -- go ahead.

2 A. -- this is the Bible.

3 Q. Well, Dr. Volpe, as you know, discusses hypoxic
4 ischemic injury through three chapters in his
5 textbook; does he not?

6 A. He does.

7 Q. Let me read you something here to see if you
8 agree or disagree concerning your testimony about
9 those fetuses born with significant or serious
10 asphyxia and brain damage and the comatose or
11 stuporous state.

12 MR. BECKER: Objection.

13 Q. I will give you the book to look at in a second
14 when I read this. He says on page 315, "The
15 following discussion is based primarily on our
16 findings with infants who have sustained serious
17 intrauterine asphyxia." That means asphyxia
18 before they are born, right, intrauterine?

19 A. Yes.

20 Q. "Birth to 12 hours. In the first hours after
21 insult, signs of presumed bilateral cerebral
22 hemispherical disturbance predominate. The
23 severely affected infant is either deeply
24 stuporous or in coma that is not arousable and
25 with minimal or no response to sensory input."

1 I put a little check mark next to it there,
2 Doctor. There is nothing about a hyperirritable
3 state there, is there?

4 A. No. I have read that, I know that.

5 Q. He doesn't have anything in there about some 20
6 percent or so may be hyperirritable, does he?

7 A. No, he doesn't.

8 MR. BECKER: Move to strike, lack
9 of foundation.

10 Q. So you would suggest, though, that in your
11 experience there is another -- that we can't just
12 say 100 percent the way Dr. Volpe indicates here.

13 A. It is not only my experience. I think you --
14 when Dr. Volpe writes a book, as most people do,
15 and I am sure you could check that with him, you
16 write what is the common experience. If you want
17 to elaborate further, you can say that there are
18 four percent exceptions on these, there are five
19 percent on these, and six percent on those.

20 On any disease or any process there is a
21 certain percentage of outliers, but most books
22 are written for the common and the usual guide.
23 And that is what he is doing there.

24 He knows -- I mean, I know Dr. Volpe, he
25 has, I am sure, seen the same things. Boston and

1 St. Louis are no different from Cleveland.

2 Q. Well, your view that there is a 20 percent group
3 that may not be stuporous or comatose, you have
4 held that for a number of years?

5 A. The figure of 20 percent I think I qualified that
6 I couldn't be sure on percentages. I was giving
7 you a rough guesstimate.

8 Q. Have you held that for many years, or is that
9 something that you just decided this year?

10 A. No, I was still busy on my answer.

11 Q. Go ahead.

12 A. Earlier on when we would see some area that we
13 see something is different, I can't quite
14 understand this, and, therefore, he didn't fit
15 into the picture.

16 As the years have gone by, we have seen
17 enough of them to say, "This is not at the one
18 percent level, it is more common." Now if you
19 tell me there are 20 percent of those, we see 16
20 percent, I mean, I can't -- it is somewhere -- it
21 may be 10 percent, I don't know, I can't tell
22 you.

23 But we have certainly seen that here, and I
24 have read records in patients of mine treated
25 elsewhere the same thing was seen. So if you ask

1 me exact percentages, I give you a ball park
2 figure, but certainly it is not accurate.

3 Q. Well, do you remember testifying, Doctor, both in
4 deposition and at trial in the John Carcaro case
5 against Southwest General Hospital?

6 A. Oh, I don't remember that.

7 Q. Mr. Monteleone was asking you questions.

8 A. I remember the case way back, then. That is
9 several years ago, so --

10 Q. There was a case. In fact, I asked you to
11 testify, didn't I?

12 A. Yes, true. It is some years ago.

13 Q. I am going to hand this to you so you can read it
14 to make sure I am reading it correctly, but let
15 me ask you if you still agree to what you said
16 then under oath.

17 MR. BECKER: Objection.

18 Q. Page 48, "Question: You also indicate under
19 Item 3 that there was no period of impaired
20 consciousness. How are we to know whether this
21 occurred or not?

22 "Answer: It is so obvious when the baby
23 has impaired consciousness. The baby does not
24 wake up, does not suck. I mean, mother notices,
25 the nurses notice. It is fundamental. It is

1 right there. It doesn't have to be seen, it is
2 there for the seeing.

3 "Question: May be difficult to arouse?

4 "Answer: It is more than difficult to
5 arouse.

6 "Question: Can't wake the baby up?

7 "Answer: The baby is profoundly stuporous
8 or comatose.

9 "Question: Does this happen in all cases,
10 Doctor?

11 "Answer: In all cases of significant
12 asphyxia?

13 "Question: Yes.

14 "Answer: Yes."

15 Do you want to take a look at this?

16 A. I don't doubt that I said that. And I have just
17 said the same thing. As I said earlier, the
18 majority are stuporous and comatose. That one is
19 easy.

20 And I said if you asked me a few years ago,
21 I would have given that answer, and did give that
22 answer. But we had seen some kids that we used
23 to put a question mark and didn't know what they
24 were.

25 But I have seen enough of them now to

1 recognize that there is the small number that
2 seemed to have this hyperalert period, and that
3 is what I testified.

4 If you -- I agree with what I said at that
5 time. But medicine is a learning experience.

6 Q. Well, since 1987 you have evolved a different
7 view that there are a few that will show this
8 hyperirritable state?

9 A. Be very specific here.

10 Q. Now --

11 A. Again, I am sorry, I haven't finished.

12 Q. Go ahead.

13 A. I still maintain what I said. What was it, in
14 1987?

15 Q. Yes -- November 12, 1986.

16 A. For the vast majority of cases, that applies.
17 And if I were to teach my residents, like
18 Dr. Volpe, that is what I would teach them.

19 These other cases that are alert, we have
20 now come to recognize that there are some like
21 that. Even my deposition the other day I
22 indicated that, that they have fooled us at times
23 because we thought the baby would be doing very
24 well.

25 Q. Now, hyperalert, these few children that will

1 exhibit this after intrapartum asphyxial
2 significant brain damage, you have had an
3 opportunity to look at the University Hospital
4 records, did this child exhibit hyperalert
5 actions in the first 12 hours?

6 A. The child was alert. Hyperalert may be a bad
7 term. Alert, wide-eyed. In fact, you quoted
8 Volpe, and let me just say, again, that I didn't
9 want to have all of this theoretical discussion
10 or deposition, but Volpe also talks periods where
11 the child may look seemingly very alert after a
12 period of time. It is clearly in his book. He
13 just puts it a little later than. It is --

14 Q. Well, he puts it at 12 to 24 hours, doesn't he?

15 A. I agree that we have seen that, too. But we have
16 seen the early ones. Now, hyperalert may be a
17 bad term. But the term is alert with a lot of
18 movement. It is not just that you look -- they
19 sort of look wide-eyed, but it is not hyperalert
20 as if they are going to read the Constitution of
21 the United States, it is just that they look
22 awake, but there is often a lot of additional
23 body movement.

24 So that alert, I don't know. Others have
25 called it irritable, hyperirritable. It is a bad

1 term across the board because the alertness is --
2 how do you really tell whether a baby is alert?

3 Q. Well, nobody characterized this child in this
4 record in the first 12 hours as being hyperalert,
5 did they?

6 A. They characterize baby as "eyes open."

7 Q. Any baby that is okay is going to have its eyes
8 open. That is not unusual, is it?

9 A. If the baby is okay, the eyes open. But there
10 have been children whose eyes are very open, they
11 almost look so wide awake that people have used
12 the term "hyperalert."

13 This one, from the record, the eyes were
14 open, there was a lot of movement, and that was
15 the context I used the term "hyperalert."

16 Q. Neither your pediatric neurology resident, nor
17 you characterized this child in your consult note
18 as hyperalert, did they?

19 A. No, we didn't use the term "hyperalert," that's
20 correct.

21 Q. Nor did you make any observations about that that
22 would conclude that you could conclude the child
23 was hyperalert in that consult, did you?

24 A. We said that the child was very irritable.

25 Q. Well, the child had been just through quite an

1 episode at about 12 hours that required three
2 shots of morphine to calm the child, didn't it?

3 A. That is so that the -- why did the child have to
4 be calmed?

5 Q. Because the child had stridor, Doctor. You are
6 aware of that in the record, aren't you, from 13
7 different intubation efforts?

8 A. The child required -- the child was extremely ill
9 -- there are notes that medication was to be
10 given for agitation. This child required
11 sedation for procedures, even after intubation.

12 Q. Doctor, you have looked at the record. How many
13 times --

14 MR. BECKER: Excuse me. Excuse
15 me, I don't think he finished the answer.

16 Q. Have you finished, Doctor?

17 A. When the child is intubated, the stridor is
18 irrelevant, you have overcome it. That child was
19 still required sedation to have procedures done.

20 Q. To have the intubation done?

21 A. No.

22 Q. What other procedures were done when the morphine
23 was being given?

24 A. The child -- if you will look, orders were given
25 here, and the child was given medication for the

1 CAT scan.

2 Q. The child was actually given morphine in twice
3 the dosage normal and twice as fast as normal,
4 wasn't it?

5 A. It is not twice the normal, it is within the
6 accepted range.

7 Q. It was 1.4, and the accepted range is 1.7 by
8 Vaneroff, isn't it?

9 A. There is a range of --

10 Q. .7?

11 A. -- .1 to .2 per kilogram of morphine.

12 Q. We agree that child got, for its size and weight,
13 got quite a bit of morphine --

14 A. Got a good --

15 Q. -- quite a little bit in a little bit of time,
16 Doctor; would that be fair?

17 A. Yes, that is fine.

18 Q. And the child got morphine in and around an
19 episode where the resident who was here at
20 University Hospital had significant difficulty in
21 intubating the child?

22 A. First of all, that was not a resident.

23 Q. A fellow.

24 A. There is a difference, there is a big difference.

25 Q. There is no difference that that doctor had

1 trouble intubating.

2 A. That doctor had trouble intubating.

3 Q. Whether it was a resident or a fellow, there was
4 trouble intubating.

5 A. Yes.

6 Q. The reason for the intubation was because stridor
7 developed while the child was on room air; isn't
8 that also correct?

9 A. That's correct.

10 Q. And there is evidence in the record that the
11 child became combative as a result of lack of
12 oxygen; isn't that fair?

13 A. There is -- the child became combative, period.

14 Q. Will individuals, human beings, become what
15 doctors characterize as combative when they have
16 lack of oxygen?

17 A. That is not necessarily correct.

18 Q. Well, is there some truth to it?

19 A. Well, I think let's -- you raised the question, I
20 will give you the answer. There are people who
21 get lack of oxygen who get very sleepy and
22 lethargic.

23 If you go into a stuffy room, you are
24 usually not combative.

25 Q. Well, there are some that do get combative before

1 they become lethargic; isn't there?

2 A. With lack of oxygen -- the word "combative" is
3 very different from being stressed or irritable
4 or -- combative usually means you are fighting.

5 And children with stridor don't usually
6 fight. They are very stressed, but they don't
7 fight.

8 Q. Well, while we talk about stridor, we are talking
9 about what, a sound, a breathing sound? Is that
10 what stridor is?

11 A. Right.

12 Q. And you are aware the nurse did note that, say
13 around noon on 8/20; is that reasonable?

14 A. I will accept that. I would have to look it the
15 note. If you say so, I will accept that.

16 Q. Isn't it also true -- we started out talking
17 about stuporous and comatose children after
18 intrapartum events. Now let's move on since we
19 have the stridor here to respiratory problems in
20 children who have recently had serious asphyxia
21 and sustained brain damage, for example, during
22 the last hour of labor.

23 Wouldn't you expect, Doctor, that
24 approximately 70 percent of those children are
25 going to be ventilator dependent for four or five

1 days?

2 MR. BECKER: Objection.

3 A. We are talking about severe asphyxia enough to
4 cause severe neurological impairment?

5 Q. Yes, sir.

6 A. Yes.

7 Q. Certainly, as you said, severe profound
8 neurological problems are what Matthew Layman
9 has?

10 A. Yes.

11 Q. Yet he was able to be removed here and was
12 removed at University Hospitals from the
13 ventilator and put on room air at 10:15 a.m. on
14 8/20/92?

15 A. Right.

16 Q. And was able to stay off of -- on room air for
17 approximately three and a quarter hours until the
18 stridor problem developed?

19 A. Yes.

20 Q. All right. Let's move on, then, from conscious
21 state and respiratory states to swelling of the
22 brain on CAT scan which you already alluded to
23 about an acute injury.

24 Would you say that you have, as a rough
25 figure, Doctor, seen approximately 200 CAT scans

1 from sick newborns?

2 A. Yes, that is probably somewhere in the ball
3 park. I mean, it is pure relying on memory.

4 Q. Well, as best you could -- I mean, we know you
5 are not keeping an accurate record with that?

6 A. Right.

7 Q. But would you also agree that you have seen
8 approximately with the cases that have been
9 brought to you and you have been asked to review
10 on and consult on on the timing issue of injury,
11 about 50 cases, roughly?

12 A. Yes.

13 Q. Is it also true that out of that, roughly, 250
14 different CAT scans on children that were ill,
15 quite ill at the time they were taken, you don't
16 recall seeing edema when the CAT was taken before
17 24 hours after birth?

18 A. I don't recall seeing it. And, again, in the
19 total number I don't know how many were actually
20 taken before 24 hours after birth. I can't give
21 you those figures. I certainly know it is by far
22 the minority of those x-rays.

23 Q. But, in essence, you can't recall with all of
24 those that were taken, ever seeing a CAT scan in
25 less than 24 hours show edema of the brain?

1 MR. BECKER: Objection.

2 A. That is what I said. I can't, as of this time,
3 recall such an instance. Again, I am not saying
4 it did or didn't occur, I just don't remember.

5 Q. Well, isn't edema or swelling in the brain of a
6 newborn who has just had a serious asphyxial
7 incident such as to cause profound problems later
8 on, isn't that type of edema usually present
9 after about 24 hours, and maximal in its extent
10 of edema by about 48 hours?

11 MR. BECKER: Objection.

12 A. That's a good question and a difficult question.
13 I think, in general, relying on what the
14 experience has been and what the radiologists
15 have told us, you have taken sort of a ball park
16 figure that edema peaks at about 72 hours. And
17 there has been a rough rule that you can see it
18 after 24 hours.

19 The fact that can you see it before, et
20 cetera, I honestly don't know. I have to defer
21 to radiologists, again, and I would like to see a
22 good study on that.

23 I have always -- well, I will leave it at
24 that.

25 Q. Well, you haven't always deferred that question

1 to a radiologist, have you? In fact, as recently
2 as the Richard Wells case you commented on that
3 very subject, didn't you?

4 A. I certainly did in that case.

5 Q. On September 23, 1994, let me read you what you
6 said.

7 MR. BECKER: I am going to
8 object. Again, this is being totally unfair to
9 Dr. Horwitz, as he is asking general questions --
10 you are asking general questions almost in a
11 vacuum, and asking him to recall things that have
12 occurred many years ago. I just think it is not
13 being fair with the doctor.

14 Q. Years ago? This is 1994, Doctor. You remember
15 the Richard Wells case quite well. It was in
16 Akron.

17 A. I know that case well. You can read it.

18 Q. Page 24 of your testimony in that case of the
19 deposition, "Of what significance to you is it
20 that there is damage to tissue shown at six days
21 and three hours of life on the CAT scan?

22 "Answer: There are several. First of all,
23 the description of the CAT scan means that there
24 is at the time it is taken no edema or swelling
25 of the brain.

1 "Question: Why is that of significance to
2 you?

3 "Answer: Well, edema or swelling of the
4 brain, as seen with acute asphyxia, is usually
5 present after about 24 hours, maximal or really
6 evident at about 48, and then over the next week
7 or so it tends to be gone, a little variable, but
8 it tends to be gone.

9 "And there is no edema here. All we can
10 say is it is not here. Whether it was here or
11 not, it isn't here at this point."

12 Here is the thing if you like to read it?

13 A. Oh, I think --

14 Q. You still agree with what you say there now? I
15 didn't see you -- you seemed to agree with it.

16 MR. BECKER: Let him answer the
17 question.

18 MR. KALUR: I am asking a
19 question.

20 MR. BECKER: Let him answer. Give
21 him an opportunity to answer the question.

22 MR. KALUR: I am asking it, and he
23 can have all he wants now to answer it.

24 MR. BECKER: You are cutting him
25 off.

1 MR. KALUR: I can't cut him off
2 until I ask a question. I just asked it, and now
3 I am letting him answer.

4 A. Again, let me make it clear that I said that that
5 is what I have been told by the radiologists.
6 That has been common belief if you say it usually
7 doesn't occur before 24 hours. I didn't say it
8 didn't occur before 24 hours because I don't have
9 the experience beyond that. I haven't done
10 enough scans, it is not a good study.

11 So I have to believe that that is what we
12 have said. I haven't said it can't occur, it
13 won't occur, it will occur. That is the usual
14 belief we have.

15 Q. Have you also learned that it is usually gone
16 after about a week, the edema?

17 A. It is usually gone after a week. That has been
18 our experience. I have it said that it is there
19 ten days and longer, but I haven't seen it.
20 Again, this is all -- I can't remember seeing it
21 after a week.

22 Q. Well, in this case you have looked at the CAT
23 scans or just the interpretations?

24 A. I looked at those scans.

25 Q. You looked at the CAT scans. I know from our

1 talk last week you agree that there is edema
2 shown on the first CAT scan, don't you?

3 A. I thought there was.

4 Q. The first CAT scan, I want you to assume, was
5 taken at 13 and a quarter hours of life,
6 approximately.

7 A. Right.

8 Q. And then a second CAT scan is taken two days
9 later on 8/28, about 58 hours later. That scan
10 shows either reduced, substantially reduced,
11 edema or no edema, doesn't it?

12 A. Correct.

13 Q. Therefore, Doctor, wouldn't you agree that we
14 have a choice here; A, if there was damage during
15 the last hour of labor to the brain, then we are
16 seeing edema at about half the time you have ever
17 seen it on a CAT scan at 13 hours?

18 A. Wait a minute. This is unfair. I have not
19 reviewed these records. To say it is half of
20 what I have seen, I said I didn't recall seeing
21 it. It doesn't mean I haven't seen it. I simply
22 said in the present time I can't recall seeing
23 it. I also used the word "usually" if you go
24 back to that deposition.

25 Q. Well, it says "usually present after about 24

1 hours." So this is unusual, then, if it is
2 present at 13?

3 A. Again, I have told you that from my experience I
4 can't tell you I have seen it 20 times or even
5 once. But I have seen -- I don't know how many
6 CAT scans I have seen before 24 hours. There
7 have been very few.

8 So I am saying that usually we see it after
9 24 hours, and usually we look. I can't tell
10 you. And I would defer to a radiologist on that.
11 I didn't do a study on that.

12 My understanding, I will repeat it again, is
13 that usually we see the edema after 24 hours,
14 that's when I get the CAT scan. That has been my
15 understanding that we usually see it. It doesn't
16 mean that there is not an outlier or that there
17 is an outlier. I don't know. And I am deferring
18 that. I don't know whether you see it at 11, 13,
19 or 17. I don't know a study.

20 Usually you see it after 24. And, as I said
21 in my deposition the other day, I tell the
22 residents and say, "Get it after 24," because
23 that is the time you are more likely to see it
24 from my experience or what I have been told. I
25 don't want to have to do it twice.

1 Q. Well, let's go to the other end of the spectrum,
2 then, if we can't be finite on the first part.
3 If the edema is gone or substantially resolved by
4 two days plus ten hours after birth, does that
5 indicate to you that the time of that damage must
6 be substantial before the hour before birth?

7 MR. BECKER: Objection.

8 A. My understanding is usually the edema is
9 subsiding around 72 hours.

10 Can you take it 24 hours earlier, 24 hours
11 later? I don't know studies that have been
12 specific. I defer to a radiologist. On the
13 usual thing that is what we have tended to see.

14 Have I seen it beyond 72 hours? I don't
15 know. I may have and I may not have. I can't
16 recall. I have never addressed it specifically.
17 My understanding generally has been that it is
18 gone by 72. How often do we get it to see that
19 it is gone by 72? I don't get them very often.

20 Q. You are saying gone by 72, but what I read to you
21 from the Broadwater testimony was you said that
22 then over the next week or so it tends to be
23 gone.

24 A. Yes.

25 Q. A week to me is seven days. Is it different for

1 you?

2 A. Over the next week it tends to be gone. So
3 usually at the end of a week, it is gone. Can
4 one go in five days or 72 and 48?

5 Yes, no, I don't know.

6 Q. Well it would be certainly -- from what you are
7 saying it is logical to say it would be unusual
8 for it to be gone at 58 hours?

9 A. I didn't say that at all. I didn't say that at
10 all. I said by a week it is usually gone. But
11 then I said could it be gone by six days, five
12 days, or 48 hours or 72 hours? I don't know. It
13 is usually subsiding at 72.

14 I can't tell you the number of cases we have
15 done it because usually if I find edema at 24
16 hours and it is very clear, there is no medical
17 reason for us to run another one at that time.
18 It is an unnecessary test, I wouldn't do it.

19 Q. Well, you are saying it is -- in other words, it
20 is not impossible it could be gone in 58 hours,
21 it is just not usual from what you have seen?

22 A. I don't know. I defer it out. I don't know. I
23 haven't specifically studied 58 hours. I mean, I
24 can give you -- again, I defer that to someone
25 who has really done a study or looked at that. I

1 haven't done it. I haven't found it necessary
2 clinically, and I can't answer that question.

3 Q. All right. Let's return to the -- we will leave
4 the CAT scans then since you are deferring here
5 today. Let's go to other indications of recent
6 serious asphyxia that could cause brain damage in
7 the last hour or so before birth that we started
8 all this with, stuporous and comatose, as you
9 will remember.

10 But turning now to white blood counts, for
11 example, is it common in such situations or
12 usual, as we have used that word today, to see an
13 elevation in white blood cell count?

14 MR. BECKER: Objection. You can
15 answer.

16 A. I honestly don't know the incidence if you are
17 asking me an accurate figure. I have certainly
18 seen it. Now, early in my career I thought it
19 was funny, it was infection or something. That
20 wouldn't do it.

21 But I have seen it so many times that it
22 certainly happens quite frequently. I don't know
23 if it is a half or third. Somebody may have
24 written it. I don't know. But I certainly have
25 seen it.

1 Q. The answer is you have seen it with such
2 children, but you don't know if it is caused by
3 it? I am trying to --

4 A. Oh, no, no, no, no. I have seen it in such
5 children, absolutely, and it is part of the
6 reaction to asphyxial stress. But it doesn't
7 occur universally. And why it happens in some
8 and not others, I don't know. And I don't know
9 the exact percentages.

10 Q. Well, how long -- do you have knowledge as to how
11 long it takes after birth for the white blood
12 cell count to become elevated?

13 A. Again, I don't know a study, but I can certainly
14 tell you after --

15 MR. BECKER: Objection.

16 A. Again, the --

17 Q. I am sure he didn't mean to not let you answer,
18 Doctor. He wants you to have full answers today,
19 and so do I, so go ahead.

20 A. I have seen it within a couple of hours of birth,
21 on the first blood count that was done.

22 If you asked me to correlate that fact with
23 how many hours the asphyxial event commenced, I
24 have no knowledge of it, I have never attempted
25 to do it, and I have no idea of it. But I have

1 certainly seen it very early on.

2 Q. So the jury understands, are you telling me that
3 if there is an elevated white blood cell count
4 after birth, you are unable, with your experience
5 and background, to tell how long before that
6 elevated count is seen the injury to the brain
7 may have occurred?

8 A. Yes, I can't tell at all.

9 MR. BECKER: Can we take a break?

10 MR. KALUR: Sure.

11 (Thereupon, a short recess was taken.)

12 (Thereupon, Defendants' Exhibit B was marked
13 for identification.)

14 BY MR. KALUR:

15 Q. Dr. Horwitz, we are going to finish talking about
16 white blood cells here in a moment. But I am
17 handing you what we have marked as Exhibit B for
18 Defendant Woo. Would you would you please tell
19 us what that is.

20 A. It is a printout of University Hospitals of
21 Cleveland reference value for test results.

22 Q. And that is what is published here at the
23 hospital for the benefit of the physicians as to
24 what the norms are in various lab tests?

25 A. Physicians and nurses.

1 Q. Now, this child at 5:02 a.m., the Layman child,
2 Matthew Layman, 5:02 a.m., one hour and 33
3 minutes of life, in the Ashtabula records I want
4 you to assume had a 31,000 white blood cell
5 count, total white blood cell count.

6 A. Okay.

7 Q. What are the norms at University Hospital for
8 pediatric or newborns with respect to white blood
9 cell count?

10 A. White blood cell count, 0 to 30 days?

11 Q. Yes.

12 A. 9,000 to 30,000.

13 Q. So this would be 1,000 above the high limit of
14 normal?

15 A. If you use the University Hospital counts.

16 Q. Yes. Do you have a different count you use?

17 A. The problem with 0 to 30 is it is lousy. It
18 should be first day, one week -- this is too
19 spread apart. But --

20 Q. Do you want the chart that goes by days in the
21 Avery's neonatology book?

22 A. I will look what that one says, that's fine. I
23 can also look --

24 MR. BECKER: Let the record
25 reflect an objection to showing the doctor a

1 textbook for which he has not recognized as
2 authoritative. Let me just again state how
3 unfair this is to ask the doctor general
4 questions and then attempt to apply them to the
5 specifics of Matthew Layman when the doctor has
6 not even looked at Matthew Layman's records from
7 Ashtabula County Medical Center, and he has
8 already indicated his desire --

9 MR. KALUR: Mike, I have given you
10 a continuing line of objection. Really, the jury
11 is not going to hear any speeches anyway, so
12 there is really no reason to slow us down.

13 I have given you a continuing line, and I
14 reiterate that you have it.

15 Q. (Continuing.) Doctor, just for one part of that
16 objection, you certainly know what book I have
17 given you, don't you?

18 A. Yes.

19 Q. It is a recognized reference for physicians for
20 laboratory values; is it not?

21 A. It is a recognized textbook of neonatology. And
22 he has put down a source of -- he has put down a
23 range of white cells without telling us what the
24 source is, but it is a good book.

25 Q. You asked -- the reason I handed it to you is you

1 said you wanted to look at a book or a text or a
2 reference that had, by days, what the white blood
3 cell count was. Does that have that?

4 A. No, this one doesn't. There is a better
5 reference, but it doesn't matter if you say
6 25,000 or 30,000. What is the difference in
7 that?

8 Q. Well, at 8/20 what might be the difference, at 3
9 hours and 51 minutes at University Hospital the
10 white blood cells had fallen to 28,500. Does
11 that say anything to you, that they are going
12 down?

13 A. Nothing.

14 Q. What if they continued on down right after that,
15 always down to -- but staying within the normal
16 range, does that tell you anything about the
17 timing of the asphyxial incident?

18 A. I am not even going to speculate on that one. I
19 don't have the remotest idea of that issue.

20 Q. Then we will leave the subject.

21 Now, another one of the areas that you might
22 look to to determine timing of these events or
23 the existence of brain damage would be kidney
24 function?

25 A. Yes. I don't know about timing.

1 Q. Oh, it might not --

2 A. Let's make it clear. Associated organ
3 involvement due to asphyxia. There may be
4 timing, fair enough. I will withdraw that.

5 Q. You can have kidney involvement, for example, to
6 follow up on what you are saying, within limits,
7 and maybe to make clearer what you were saying,
8 you can have some kidney involvement, in other
9 words, some signs of kidney damage from injury
10 anywhere during the perinatal period; would you
11 agree with that?

12 A. So I am clear, what you are asking again --

13 Q. Perhaps it is not clear.

14 A. Theoretical question?

15 Q. Let me try it again.

16 A. If somebody had asphyxia, you are talking
17 about --

18 Q. Yes.

19 A. -- in the perinatal period?

20 Q. Yes.

21 A. And the infant was born, could they show signs of
22 kidney damage? Is that what you are asking me?

23 Q. Yes, sir.

24 A. It will depend on when the urine specimen was
25 obtained, but certainly yes.

- 1 Q. Well, whether the child -- so we get this clear,
2 too, the first one, if it is taken within a very
3 short time after birth, within the first few
4 hours, should reflect a normal value because the
5 mother is performing the kidney function for the
6 fetus; isn't that true?
- 7 A. But it depends what you are talking. You can
8 make -- I mean, you can make the statement, but I
9 can't.
- 10 Q. If we are dealing with asphyxial injury, you are
11 not going to see the results of the asphyxia on
12 an early BUN lab report, are you?
- 13 A. Right. You are asking me if there has been
14 asphyxia and the kidneys are involved --
- 15 Q. Yes.
- 16 A. -- and the baby is born, and we do a blood test
17 which measures the blood, urea, nitrogen, the
18 BUN?
- 19 Q. Yes.
- 20 A. Would we see an abnormality done -- will we see
21 them if it is done how soon after birth?
- 22 Q. Within the first two, three hours.
- 23 A. No, not in that test.
- 24 Q. And the reason is because what? Why will it show
25 as normal, then?

1 A. Because it will have cleared through the mother's
2 body.

3 Q. It is only after that first two or three hours
4 that we might see kidney involvement by an
5 elevated BUN level. Would you agree with that?

6 A. It will take some hours before we see that. I
7 don't know if it is two or three, specifically.
8 I would have thought it is a little longer, but I
9 don't have specific data.

10 Q. Well, if at four or five hours, Doctor, this
11 child's BUN was 18, would that be out of the
12 normal range according to the University Hospital
13 charts for blood, urea, nitrogen?

14 A. They don't have a newborn level in here.

15 Q. What do they have?

16 A. They have adult and "peds."

17 Q. And the "peds" is what?

18 A. From this definition?

19 Q. Yes.

20 A. I don't know. I didn't make up the lab slips.
21 We don't use this any more.

22 Q. Well, here is one I can give you on hours. Here
23 is 1 to 12 hours in the same neonatology book
24 that we just looked at before, Avery's textbook,
25 that you said is a reference source for lab

1 values.

2 What does it give, 1 to 12 hours for BUN
3 levels as normals, the range?

4 A. This is low birth weight -- oh, here. You are
5 giving me term infant?

6 Q. Yes.

7 A. It is giving 27-33.

8 Q. 27 to 33?

9 A. Yes. I think you have asked me again -- let me
10 make clear, I have no intention of going through
11 all of this. I think it is not what I wish to
12 do. But I would like to refer to the University
13 Hospital chart on that question.

14 Q. As to what, the level? Certainly --

15 A. No. And the laboratory standards. I don't want
16 to refer to this.

17 Q. They are right here. The labs you will find in
18 the back.

19 A. Right here.

20 Q. Let me ask you while you are looking, are those
21 labs for newborns or peds, the norms that are
22 shown in there?

23 A. As you will -- you gave me this exhibit, it is
24 from a different era, it is not from this chart.

25 Q. Well, my question is whether the values for norms

1 that are shown in there are for newborns by days,
2 as you said you want to see 1 to 2 days and 2 to
3 3 days, or are they for first weeks of life, or
4 from peds after newborn?

5 A. These are for newborns. They are specifically
6 supposed to have programmed it for newborns.

7 Q. Okay.

8 A. The BUN that is given at University Hospitals,
9 normal range is 4 to 15.

10 Q. 4 to 15. So 18 would be just barely elevated if
11 that is the correct one that should apply at 12
12 hours?

13 A. Correct.

14 Q. Again, is there anything about the timing of the
15 onset of the first elevation of BUN above a
16 normal range in the asphyxial situation that
17 could let you time it backwards to know when the
18 event occurred?

19 A. Not that I know of.

20 Q. Again, talking about kidneys. In some of the
21 cases that you have seen, is there blood in the
22 urine after an asphyxial incident?

23 A. Yes.

24 Q. There was no blood in the urine in this case, was
25 there?

1 A. Not in the specimen that was taken, no.

2 Q. Is that the more severe cases that have blood in
3 the urine, of asphyxia?

4 A. Sometimes it is. I have also seen it in the
5 moderately severe. It is variable.

6 Q. How about shutdown, where there is no urine being
7 produced, as opposed to decreased, as there was
8 in this case, is it more severe to have shutdown?

9 A. I am giving you a very rough ruling. Total
10 shutdown is usually an indication that there has
11 been a very severe asphyxial episode.

12 But, you know, you can have just as severe
13 an asphyxial episode, or more common than
14 shutdown, you get oliguria, or reduced output.

15 Q. In this case there was protein +1 found in the
16 urine. That is a sign also of some asphyxial
17 damage to the kidney?

18 A. It is an abnormal finding.

19 Q. What is the scale, +1 to plus what?

20 A. +4.

21 Q. And the worst is +4?

22 A. +4.

23 Q. And +1 is the least?

24 A. Well, 0 is none.

25 Q. Yes.

1 A. 0 is normal.

2 Q. Right. Is it fair to characterize the degree of
3 kidney involvement in this case from the record
4 review you did do of the University Hospital
5 records as mild?

6 A. I would call it -- no, I would call it more in
7 the moderate range.

8 Q. Has there been some fact that has been brought to
9 your mind between today and last Friday when I
10 deposed you to change your view from mild to
11 moderate?

12 A. Yes. The only factor is that in looking at this
13 again, on the biochemical values, the BUN and the
14 creatinine and the protein in the urine you would
15 say was rather mild.

16 But there was several days of significantly
17 reduced output, which would put it more to the
18 moderate range.

19 You know, mild, just to qualify, mild would
20 be if you see fewer red cells and a little
21 protein and maybe a tiny elevation of BUN like
22 here, but output is perfect, that is mild.

23 So this is getting close to moderate. We
24 are not far apart.

25 Q. Maybe you can clarify something for me here. You

1 said you didn't review these records with an idea
2 of giving the type of opinions I am asking you
3 about today.

4 A. Right.

5 Q. But between your deposition and last Friday and
6 today you have changed your view from mild to
7 moderate on kidney involvement based on your
8 review of these records, so you have been
9 reviewing the records, haven't you?

10 A. As you will recall, you told me to review some of
11 the stuff again. You said you would ask me, so I
12 went back and reviewed those few days.

13 Q. So for the jury's benefit, you have not only
14 reviewed them before your deposition last week
15 once at night, but you have reviewed them in the
16 interim period before today?

17 A. I reviewed them, as you had told me that you
18 would require me to look at them, and so I looked
19 at a few things, again, reluctantly.

20 Q. And one of the few things you did allowed you now
21 to change your testimony from mild to moderate
22 kidney involvement?

23 A. That's correct.

24 Q. Again, going back to those things that can be
25 seen after significant serious asphyxia that

1 leads to brain damage in the last part of labor,
2 how about heart and liver enlargement? Are those
3 seen on occasion when you have taken care of
4 children so that they have profound problems
5 later?

6 A. Yes.

7 Q. Is there any notation in the record now that you
8 reviewed at least twice of either finding heart
9 or liver enlargement above the range of normal?

10 A. No.

11 Q. Now, there was a subject of a heart murmur. I
12 think Mr. Becker even asked you about heart
13 murmur before the last deposition in one of your
14 meetings, didn't he?

15 A. Right.

16 Q. Does that have any significance in this case,
17 that there was a heart murmur detected?

18 A. I just made it clear that a heart murmur can be
19 of significance, but this was very transient, and
20 from my perspective had never really been
21 thoroughly evaluated. And, therefore, I was
22 going to do nothing with that information either
23 way. It meant nothing to me.

24 Q. Now, in the record, Doctor, when I was looking at
25 it, I noticed that there were some discrepancies

1 in the measurement of the head circumference on
2 different days. Somebody had one measurement of
3 how many centimeters, and then another one was a
4 little larger, and then a little smaller.

5 Is that of any significance in this case at
6 all if anybody were to come in later and say, "I
7 can tell because the head circumference measure-
8 ments change that there was recent brain
9 damage"?

10 What significance would that be to you, as a
11 pediatric neurologist?

12 A. Again, I am going to tell you that I had never
13 intended to go through all of this. I haven't
14 even looked at what those measurements were, so I
15 don't know if they went down up, down, or
16 sideways, and I am not going to comment on them.

17 I can give you a couple of -- I will leave
18 it at that, I am not going to comment on them.

19 Q. Well, I want you to assume that since you can't
20 remember what they were, or didn't look for them,
21 or didn't want to, let me give you an assumption
22 as to what they were because I think it may be
23 important for me to get your opinions as a
24 pediatric neurologist on this.

25 I have them listed and broken out from the

1 chart, and I will mark that as Exhibit C when we
2 get a chance.

3 I am going to ask you to assume that that is
4 a correct summary of the various head circumfer-
5 ence measurements that appear in the chart. Now,
6 are those measurements of any significance to you
7 here in timing any asphyxial incident at all?

8 A. No.

9 Q. Why not?

10 A. Well, all of the -- let's look at them, you have
11 got 1, 2, 3, 4, 5, 6 measurements over six days.

12 With the exception of -- I will just read
13 the numbers so I will be clear. 35.5, 36, 35.25,
14 36.5, 38 -- I want to come back to that one --
15 36.5, 36, 36.5.

16 If we just take out the 38 for a moment, the
17 difference between 35.25 and 36.5, and measuring
18 a baby's head like that is so dependent on
19 technique. These are paper tapes. If you pull
20 them tight, they stretch.

21 If the baby has a little bit of scalp edema,
22 depending on the position the baby is in you can
23 get variability. I can challenge any of us here
24 to go in and measure now. Even with ten years of
25 experience, you will get all this variation

1 moment to moment.

2 The 38 is a told outlier on 8/23, and I
3 don't know why. To go up a centimeter and a half
4 one day, down a centimeter and a half the next
5 day, I can't explain it, but I have a pretty good
6 idea of what it is.

7 Q. What is that?

8 A. Is an inaccurate measure. I mean, there is no
9 sense in this at all.

10 Q. Okay.

11 A. You can make -- I am going to leave it at that.

12 Q. Dr. Horwitz, would you agree that the most common
13 area for injury when the brain is injured by an
14 asphyxial incident during labor is in what is
15 known as the parasagittal or watershed area of
16 the brain?

17 A. Yes.

18 Q. Would you also agree that the injuries in this
19 case to Matthew Layman are not in the
20 parasagittal or watershed areas of the brain?

21 A. I am not sure that area is spared. There is some
22 basal ganglia injury. It is not typical
23 parasagittal.

24 Q. Well, I won't characterize your answer, I will
25 just ask you if you remember these questions and

1 answers from page 97 of your deposition just less
2 than one week ago, six days ago, page 97, "Are
3 any of these damages in the cerebrum in the
4 watershed areas of the brain parasagittal
5 regions?

6 "Answer: I would have to see the exact
7 film to see, but this seems a little bit more
8 than parasagittal.

9 "Question: Seems more than parasagittal?

10 "Answer: No, I don't think it is
11 parasagittal."

12 Have you changed your testimony?

13 A. No, I haven't looked at it again.

14 Q. All right. So this is still your testimony under
15 oath then?

16 A. Yes.

17 Q. So this is not a parasagittal or watershed injury
18 in this child?

19 A. Not a classical one, no.

20 Q. Now, meconium, the passage of meconium, that is a
21 fetal bowel movement in effect; is it not?

22 A. Yes.

23 Q. You have seen that in many of the cases where you
24 have had infants you thought had received an
25 asphyxial injury during labor, haven't you?

1 A. Seen what?

2 Q. The passage of meconium, or meconium staining on
3 the baby?

4 A. Okay, the passage -- are you talking about the
5 passage of meconium before the baby is born, so
6 we can be specific?

7 Q. Yes, sir.

8 A. Yes, sure I have seen it.

9 Q. Would you explain to the jury why the meconium is
10 passed and what its association with asphyxia is?

11 A. Meconium is a bowel content that is not usually
12 passed after the baby is born. In some cases
13 meconium can be passed for reason that are just
14 obscure, it happens before the baby is born.

15 It can also be passed when a baby is being
16 stressed in utero, and during the stress period
17 it has some effect on the bowel propulsion and
18 expels the meconium.

19 Q. Of course that doesn't occur in all cases like
20 this, apparently there are some cases where the
21 meconium won't be passed?

22 A. Right.

23 Q. And nobody knows why it is passed sometimes or
24 not passed others; is that fair?

25 A. I think that is fair.

1 Q. Let me just ask you a few questions here in sort
2 of summary fashion so we can move to the
3 conclusion of my questions. I am going to phrase
4 this question as one large question, and we will
5 deal with the subcategories of it, and maybe we
6 can move through it quickly that way.

7 If I were to -- I am going to ask you if
8 each of these things that follow, if you found
9 them to be negative, for example, whether or not
10 that would mean that the child could not have
11 been damaged 24 or 48 hours before labor?

12 A. Just so I understand, are we talking about this
13 case, or is this in general?

14 Q. In general.

15 A. All right. Because I haven't reviewed all to
16 answer in this case.

17 Q. Right.

18 MR. BECKER: Same objection.

19 Q. For example, Doctor, if there were no growth
20 retardation in the baby, so the baby was not a
21 growth retarded at birth, would that mean that
22 you could not have had damage, asphyxial damage,
23 brain damage, 24, 48 or 72 hours before birth?

24 A. I am sorry, ask it again.

25 Q. If someone told you as an expert and said, "Well,

1 you couldn't have damage to this baby at 48 hours
2 before birth because the child is not growth
3 retarded," does that make medical sense to you?

4 A. No.

5 Q. If someone told you that "I can look at a base
6 deficit after birth, 40 minutes after birth, of
7 17.2 on a blood gas, and I can tell you exactly
8 when the child, within 10 or 15 minutes, when
9 that child began to be acidotic before birth,"
10 would that comport with your knowledge of
11 medicine?

12 A. I have no knowledge of that.

13 Q. In other words, you have no knowledge that being
14 calculable from that number?

15 A. I have no knowledge of that.

16 Q. Or if a person claiming to be a reputable expert
17 told you that the lack of an elevated hematocrit
18 or hemoglobin with respect to the blood after
19 birth meant that you couldn't have damage 24, 48
20 or 72 hours before the birth, would you accept
21 that as making medical sense to you?

22 A. No.

23 Q. If that alleged reputable expert told you that
24 you will only see blood in the urine in asphyxia
25 situations where there is a DIC condition, would

1 that make medical sense to you from your
2 experience?

3 A. That has not been my experience.

4 Q. The fact of some degree of organ damage, Doctor,
5 whether it is mild or mild to moderate, does that
6 mean there must be brain damage from the incident
7 that caused the organ involvement, the kidneys or
8 liver?

9 THE WITNESS: Could I just hear
10 that again?

11 (Record read.)

12 A. I don't know how to answer that.

13 Q. Well, more simply put, can you have organ
14 involvement, like kidney or liver, for example,
15 without having profound brain damage?

16 A. Yes.

17 Q. Now, there were various movements -- well, let me
18 ask you this: Are seizures and edema connected,
19 or are they separate things? Do you have to have
20 edema, in other words, before you can have
21 seizures or are they unrelated?

22 A. Well, they are not unrelated. I mean, the -- if
23 you have seizures and you have edema, whatever
24 the cause is of the edema is also the cause of
25 the seizures.

1 Q. But does the edema cause the seizures? Is there
2 a direct causal relationship?

3 A. Not that I am aware of.

4 Q. And is the onset of the time of seizures after
5 birth, whenever they are first noticed, does that
6 give you, as a pediatric neurologist, any ability
7 to tell us when before birth the injury occurred
8 to the brain?

9 A. No.

10 Q. In Matthew Layman's case there were -- I want you
11 to assume that there were -- there was some
12 trembling of the jaw noticed at Ashtabula before
13 transfer, and fencing state of the child, in
14 other words like a fencer, at one point.

15 Do those, in and of themselves, those type
16 of findings, indicate to you that those were
17 seizures in progress?

18 A. This is very difficult because I haven't read the
19 specific description. And, you know, I don't
20 know what people describe, but generally I will
21 say that trembling of the jaw is not a seizure.

22 Q. How about fencing, a fencing description?

23 A. A fencing can be a seizure.

24 Q. You mentioned an EEG, an electroencephalogram,
25 earlier. Are you able -- you have a special

1 expertise, don't you, you are certified or
2 something of that nature in reading EEGs?

3 A. I am not certified, but I have read a lot of
4 them.

5 Q. And an EEG, are you able to look at an EEG on a
6 newborn and come to a conclusion as to what time
7 any brain damage was incurred?

8 A. I can't do that.

9 Q. Life expectancy, Doctor, you said into the early
10 20s. You said that is based on some of your
11 patients having lived to that age?

12 A. No, I said I have some patients that have lived
13 to that age in these similar conditions, and that
14 the change in the quality of health care for
15 these children, the availability of resources and
16 the improved care, the improved ability to help
17 the families, has shown these children doing very
18 well after a number of years.

19 But the standards we have today weren't
20 there 20 years ago. I think I was very clear on
21 that. So while I have had people of 20 years,
22 the number would have been less than they are
23 going to be now. Am I making it clear?

24 Q. You are speculating that with the changes that
25 have been made in health care for these children,

1 more of them could live to their 20s?

2 MR. BECKER: Objection to the word
3 "speculative."

4 Q. Well, you don't have any studies done, have you,
5 on that subject because you just said there are
6 new things?

7 MR. BECKER: Doctor -- excuse me.
8 Go ahead, Doctor.

9 A. There can't be studies because the availability
10 and the things we are using aren't 20 years old.
11 But what I am saying is that looking at our
12 experience in the past and the things that caused
13 them to die, and looking at what we do today, I
14 think it is reasonable to form an opinion that,
15 to a reasonable degree of probability he will
16 live until 20 years, if you want call that
17 speculating.

18 Q. That would be a semantic argument. I won't get
19 into it. You would say, though, Doctor, that you
20 have no statistics compiled whereby you looked at
21 even a certain set number of patients either that
22 you had or this hospital has had over the last 20
23 years, for example, or any number of years, and
24 determined how many of those patients with severe
25 cerebral palsy, with just cerebral palsy, with

1 mental retardation, G tube dependent, all of the
2 things that you testified the Layman child has,
3 how long those children really lived, do you?

4 A. No, there is no such study.

5 Q. Your conclusion is based on the fact that you
6 have seen some children live that long that have
7 been under this type of disability, and that you
8 believe there have been some advances in medical
9 science that will allow others to live to that
10 age?

11 A. That's a fair summary.

12 MR. KALUR: Thank you,
13 Dr. Horwitz. Those are all the questions I
14 have.

15 - - -

16 CROSS-EXAMINATION

17 BY MR. SWITZER:

18 Q. Doctor, I am Don Switzer. I represent the
19 hospital, and I promise to be very brief.

20 A. Thank you.

21 Q. I will not repeat the questioning by Mr. Kalur.
22 Is it fair to say, Doctor, that you did not
23 prescribe any treatment for the cerebral edema
24 this child had?

25 A. Well, let me make this clear. Just so we get the

1 terminology straight, I was not the managing
2 physician of this child, Matthew, in the acute
3 phase of his illness at University Hospital. I
4 was a consultant.

5 As such, I could recommend treatment, but I
6 couldn't prescribe. That is the responsibility
7 of the treating physician.

8 Q. Is there any treatment for cerebral edema?

9 A. At this age?

10 Q. Yes.

11 A. No. There is plenty of treatment, I think I
12 would like to qualify it, there is no effective,
13 proven effective treatment.

14 Q. You did not agree with the decision to have the
15 first CAT scan taken on August 20; is that
16 correct?

17 A. When I first was confronted with the fact that a
18 CAT scan had been done, I didn't agree with it
19 until I got some explanation from Dr. Watts, and
20 then I deferred to her better judgment on that
21 issue.

22 Q. Well, you would have preferred to have waited 48
23 hours before doing the first CAT scan?

24 A. I will tell you after -- let me again make it
25 clear, I have not seen the Ashtabula records.

1 Based on what she told me after I had spoken with
2 her, I too would have gotten that scan before 24
3 hours, but for reasons that were different.

4 Q. The reasons that were different would be to see
5 if there was a hemorrhage?

6 A. A hemorrhage due to mechanical injury, that is
7 the only reason.

8 Q. Of which there was none in this case?

9 A. That's correct.

10 Q. Doctor, one of the or some of the -- let me
11 withdraw that question because I don't want to --
12 Doctor, in a child who sustained permanent
13 neurological brain damage, you would expect to
14 see an absent suck or a depressed gag and an
15 absent Moro in the first 12 hours after birth?

16 A. The child who sustains brain damage from
17 asphyxia?

18 Q. Yes.

19 A. And has the usual neurologic picture that such
20 children have, you would expect the suck, the gag
21 -- what else did you ask me?

22 Q. Moro.

23 A. You would expect them to be absent or very
24 markedly diminished, impaired. Again, that is in
25 the vast majority of babies.

1 Q. Doctor, most of the babies or fetuses that are
2 asphyxiated 48 hours before birth, such that
3 permanent neurological impairment results from
4 that, most of those babies don't tolerate labor;
5 is that correct? In other words, they don't go
6 through labor very well?

7 A. Are you asking me a baby who had an episode at 48
8 hours who was then relieved, or is that
9 continuing some degree of asphyxia? Even there
10 the answers might be different.

11 Q. Well, let's take the condition where the baby has
12 -- a fetus has an hypoxic ischemic insult 48
13 hours before labor begins, and has some degree of
14 permanent neurological injury as a result of that
15 insult, most of those fetuses do not go through
16 labor very well?

17 A. Probably true. I am not sure about that. I
18 don't know. If most is 51 percent, I haven't
19 seen an exact study. But, you know, I don't have
20 any basis to say absolutely no.

21 My instinct would be to say that most of
22 those, at least over 50 percent, don't tolerate
23 labor perfectly well.

24 Q. If a fetus, again, taking that same scenario, has
25 existing neurological injury from an hypoxic

1 ischemic insult let's say about 48 hours before
2 labor begins, so that that insult affects the
3 muscle tone, then would you expect that fetus not
4 to be able to undergo the normal muscle
5 movements, and, therefore, would not go through
6 the normal rotation in labor?

7 MR. KALUR: Objection. Not in
8 evidence. Again, no basis in foundation for the
9 hypothetical.

10 MR. SWITZER: Okay.

11 Q. (Continuing.) I think you can answer that.

12 A. Again, you have asked me a very general question.

13 Q. Yes.

14 A. I can only give you an answer -- it would depend
15 on whether the baby is damaged from that episode,
16 whether it is recovered from that episode, the
17 degree of damage. If it was profound damage --

18 Q. I want you to assume profound damage from that
19 episode.

20 A. If I assume profound damage from that episode,
21 then I would assume that fetal movements would be
22 diminished.

23 Q. Doctor, the medical care and treatment that
24 Matthew Layman has received since his birth, from
25 all the physicians, as well as the therapy and

1 counseling that has been provided by the
2 Ashtabula County Board of Mental Retardation and
3 Developmental Disabilities, I take it it is your
4 opinion that all of that care has been
5 appropriate; is that correct?

6 A. To the best of my knowledge, the care that
7 Matthew has received has been fine and
8 appropriate.

9 MR. SWITZER: Thank you very much,
10 Doctor.

11 MR. BECKER: Off the record.

12 (Thereupon, a short recess was taken.)

13 MR. BECKER: Before I begin any
14 redirect examination, the record should reflect
15 that we renew our objection to questions beyond
16 the scope, general questions that don't apply
17 specifically to Matthew Layman.

18 Dr. Horwitz has already indicated he has not
19 had the opportunity or the desire to look at
20 these records. And we are going to proceed with
21 redirect without waiving that objection.

22 We want to state that for the record.

23 - - -

24 REDIRECT EXAMINATION

25 BY MR. BECKER:

1 Q. Doctor, I just have a few questions for you on
2 redirect examination. Perinatal asphyxia
3 includes asphyxia occurring within labor and
4 delivery, correct?

5 A. Yes.

6 Q. And you recognize, Doctor, that severe asphyxia
7 during labor and delivery can cause serious brain
8 injury, correct?

9 A. Yes.

10 Q. Now, Doctor, there was some discussion and play
11 with the concept of statistics by defense
12 counsel, and throwing out something about a 90 or
13 89 or 95 percent people that don't have brain
14 injury from -- or cerebral palsy from labor and
15 delivery. Do you recall that, Doctor?

16 A. Yes, I -- yes.

17 Q. Doctor, is it fair to state that the majority of
18 those kind of children aren't severely depressed
19 and asphyxiated at birth, correct?

20 A. The --

21 Q. The majority of the high number he is throwing
22 out aren't severely depressed and asphyxiated at
23 birth, correct?

24 A. Yes.

25 Q. In those kind of cases it is a situation where a

1 normal labor and delivery, the child is not
2 depressed, and suddenly cerebral palsy develops?

3 MR. KALUR: I am going to show an
4 objection to the leading nature of the question.
5 This is supposed to be redirect.

6 Q. I will withdraw the question, Doctor.

7 A. I am sorry, I got confused --

8 Q. I will withdraw the question.

9 Now, Doctor, we have had a lot of questions
10 on cross-examination by the defense counsel, all
11 interesting discussions, but getting to the
12 issue, Doctor, did you or do you have any basis
13 to a reasonable degree of medical certainty to
14 now say, based on the materials that you have
15 reviewed, when the timing of the hypoxic ischemic
16 insult occurred in this child?

17 MR. KALUR: Objection to the first
18 portion of the question up until the question
19 started to be asked.

20 A. I think I made it clear that I had not reviewed
21 all the records, and that I was not addressing
22 the timing of the insult either way.

23 Q. I just want to make that real clear for the
24 ladies and gentlemen of the jury so there is no
25 misunderstanding here.

1 Now, Doctor, would you defer to those
2 individuals that have carefully reviewed the
3 records of the Ashtabula County Medical Center,
4 the prenatal records, the ultrasounds that were
5 taken the day of delivery, and the intense --
6 strike the word "intense," and the analysis of
7 the fetal monitoring strips as to when, in fact,
8 any hypoxic ischemic injury occurred, would you
9 defer to someone like that?

10 A. I am deferring that, period.

11 MR. BECKER: One moment. I think
12 I am done.

13 That is all we have.

14 - - -

15 RECROSS-EXAMINATION

16 BY MR. KALUR:

17 Q. Doctor, to pick up with that last question, is it
18 fair to say you could have attempted to, by
19 greater inspection of the records, narrowed the
20 time frame of when the damage occurred in this
21 case, but you have chosen not to for personal
22 reasons?

23 A. I have chosen not to for personal reasons.
24 Whether I could have made an assessment of when
25 it occurred, I can't tell without looking at the

1 records. Maybe I could have, and maybe I
2 couldn't have.

3 Q. Is one of the reasons you may not have been able
4 to because it is quite difficult to distinguish
5 the timing of an incident, HIE asphyxia-caused
6 damage -- strike that. It is quite difficult to
7 determine asphyxial damage, at least HIE, a
8 distinction between 2 hours of life, 24 hours of
9 life, or 48 hours of life?

10 A. Well, I think that is a general statement.
11 Sometimes you can tell it very easily, sometimes
12 you --

13 Q. Actually, I misspoke. I mean of life, I meant
14 before birth.

15 A. Sometimes you can, sometimes you can't.

16 Q. All right. There is some degree of difficulty
17 there, isn't there, in separating those?

18 A. In some cases it is very straightforward, and
19 others you can't tell at all.

20 Q. Now, one of the ways that you can tell is if the
21 child is hypotonic in the first 12 hours, during
22 the first 12 hours of life, that is a typical
23 sign that you had brain damage close on up to
24 birth, isn't it?

25 MR. BECKER: Objection. Beyond

1 the scope of redirect.

2 A. If you have hypotonia in the first 12 hours, you
3 could have had the damage -- let me withdraw
4 that. It doesn't have to be damage, you could
5 even recover from that.

6 If you had the hypotonia in the first 12
7 hours, and it would have to be a baby that came
8 out very depressed, you would have to have all of
9 those features, we can at least say it was
10 depressed at the time of birth and is still
11 hypotonic.

12 Whether that happens three hours or that was
13 a 24-hour continuous thing, I can't answer it
14 accurately.

15 Q. Well, doesn't Dr. Volpe, who we have already
16 discussed, in his book indicate that with serious
17 intrauterine asphyxia such as would cause brain
18 damage, that the large majority of infants at
19 this stage are markedly and diffusely hypotonic
20 with minimal spontaneous or elicited movements
21 being the first 12 hours of life?

22 A. Oh, yes.

23 MR. BECKER: Objection.

24 A. Oh, yes.

25 Q. You would agree with that?

1 A. Oh, yes.

2 MR. BECKER: Move to strike.

3 Q. Now, when you saw this child at --

4 A. I am sorry, I misunderstood your question. It
5 sounded quite different to me.

6 Q. I am sorry, maybe I didn't get it, as usual,
7 clearly. Let me try once more on the subject.

8 When you saw the baby on the 20th of August
9 after your resident had examined the baby and
10 presented the baby to you, the only abnormality
11 of tone at that time was some hypertonia or
12 increased tone in limbs; is that right?

13 A. Yes.

14 Q. And the record would reflect that that was after
15 3:40 in the afternoon? Are you aware of that?

16 A. Yes, it has to be after 3:40.

17 Q. And the record also reflects that before the
18 episode with the reintubation at about 1:30, that
19 from about an hour after the child was born until
20 then normal tone had been observed, doesn't it?

21 A. No.

22 Q. Where is abnormal tone noted between about 4:30
23 in the morning -- well, you didn't see the
24 Ashtabula records, so we will start between 8:30
25 when the child first arrived at University

1 Hospital, and the time at 1:30 when the episode
2 began with the intubation after the stridor.

3 MR. BECKER: Objection, still
4 beyond the scope.

5 Q. When is abnormal tone described?

6 A. I have to look at the records, but my
7 recollection is it is described. I would have to
8 look at the records.

9 Q. Do you know where you want to look in the
10 records?

11 A. I will have to look in the first few days, the
12 first day.

13 Q. Do you want to look in nurses' notes or --

14 A. I want to look first in the physician notes.

15 Q. Go ahead and look whenever you want. I will give
16 you the other edition, the other first set.

17 (Thereupon, a discussion was had off the
18 record.)

19 A. I don't find the physician's notes.

20 I saw good tone.

21 Q. The intern notes at 11:50, summarizing his
22 observations of the child from 8:25 to 11:50,
23 good tone, doesn't he?

24 A. She.

25 Q. She notes that he, Matthew Layman, had good tone

1 between 8:30 in the morning and 11:50?

2 A. Yes.

3 Q. All right.

4 A. I thought I had seen one earlier. Then certainly
5 later we saw it.

6 Q. You saw hypertonia?

7 A. Right.

8 Q. Increased. You didn't see decreased when you saw
9 this child?

10 A. No, no.

11 MR. KALUR: That is all I have.
12 Thank you.

13 MR. SWITZER: No further
14 questions, Doctor.

15 - - -

16 FURTHER REDIRECT EXAMINATION

17 BY MR. BECKER:

18 Q. Doctor, this concept of hypertonia going along,
19 and then you mentioned earlier about the child
20 crashing after 24, 36 to 48 hours going into
21 hypotonia, and that happens in some of the babies
22 you have seen, do you know why that is?

23 MR. KALUR: Show an objection.
24 There is no testimony like that today.

25 MR. SWITZER: Objection.

1 MR. KALUR: You must have been
2 listening to a different depo, or something.

3 Q. You can answer, Doctor.

4 A. I don't know a specific reason. There has been
5 speculation that it was the edema, and so on. I
6 think that most people think that is incorrect.

7 I think most people would feel that you,
8 after the asphyxial event, you get some recovery
9 of neural function, but there is also an
10 accumulation of a variety of chemical by-products
11 from the asphyxial episode. And then over a
12 period of hours to a day that causes severe
13 destruction of nerve cells, and that is the point
14 it crashes.

15 MR. BECKER: Thank you, Doctor. I
16 have nothing further.

17 MR. KALUR: Nothing further,
18 Doctor.

19 Doctor, we will ask you if you will waive
20 your right to read and have this videotape
21 played, read the transcript, and have the
22 videotape played.

23 THE WITNESS: I will waive.

24 MR. KALUR: And I take it we may
25 also have a similar waiver on filing requirements

1 on the tape as we gave you on the transcript?

2 MR. BECKER: Sure.

3 MR. KALUR: Thank you very much.

4 - - -

5 (DEPOSITION CONCLUDED.)

6 (SIGNATURE WAIVED.)

7 - - -

CERTIFICATE

State of Ohio,)
) SS:
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, SAMUEL J. HORWITZ, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 16th day of March, 1995.



Diane M. Stevenson, RPR, CM
Notary Public in and for
The State of Ohio.

My Commission expires October 31, 1995.

Diane M. Stevenson, RPR, CM
Morse, Gantverq & Hodge