

#611

STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

- - -

IN THE COURT OF COMMON PLEAS

- - -

JOHN R. CACARO, JR., ETC.,)
ET AL.,)
)
Plaintiffs,) Case No. 88275
)
-vs-)
)
SOUTHWEST GENERAL HOSPITAL,)
ET AL.,)
)
Defendants.)

- - -

Transcript of the deposition of SAMUEL J.
HORWITZ, M.D., called as a Witness by the Plaintiffs,
pursuant to the Ohio Rules of Civil Procedure, taken
before me, Suzanne Vadnal, a Registered Professional
Reporter and Notary Public within and for the
State of Ohio, pursuant to notice of counsel, at
Rainbow Babies' and Children's Hospital, 2103 Adelbert
Road, Cleveland, Ohio, on Wednesday, the 12th day of
November, 1986, commencing at 9:35 o'clock a.m.

- - -

1	
2	
3	
4	
5	
6	
7	
8	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

On behalf of the Plaintiffs:

Jeffries & Monteleone Co., L.P.A.:
J. Michael Monteleone, Esq.

On behalf of the Defendants:

Jacobson, Maynard, Tuschman &
Kalur Co., L.P.A.:
Jerome S. Kalur, Esq.

Weston, Hurd, Fallon, Paisley & Howley:
Donald H. Switzer, Esq.

- - -

MUNCIE, IN 47302

SAMUEL J. HORWITZ, M.D.,

called as a Witness by the Plaintiffs, pursuant
to the Ohio Rules of Civil me
first duly sworn, as hereinafter certified,
deposed and said as follows:

CROSS-EXAMINATION

BY MR. MONTELEONE:

Q. Doctor, let's have your full name, please.

A. My name is Samuel J. Horwitz.

Q. I'm sure Mr. Kalur told you that I'm Mike
Monteleone and I represent the Cacaro family
for the injuries that their child suffered in
November, 1982 and I want to ask you some
questions here today.

I know you have written a report. It's
dated March 21, 1986.

A. Yes.

Q. Do you have that report in front of you?

A. Right.

Q. I presume that's the only report that you've
written, Doctor, in this case.

A. Yes.

Q. Is this a current copy of your curriculum v tae?

A. Yes.

Q. Since it's my responsibility to ay you for your

1 time while you're being deposed, and I will
2 gladly do that, why don't you be kind enough
3 to tell me what your hourly charge is, Doctor?

4 A. My hourly charges for deposition are \$200.

5 Q. How about trial testimony?

6 A. Usually around \$250 an hour.

7 Q. I know that you have worked with Mr. Kalur in
8 the past. How many times is that that you've
9 worked with Mr. Kalur?

10 MR. KALUR: You mean in a
11 courtroom or reviewing cases or what?

12 Q. Everything.

13 A. As far as I can recall, cases in Court I have
14 a recollection of one, and other cases I have
15 reviewed for Mr. Kalur, I'm going to guess about
16 four, and then I've been in cases where
17 Mr. Kalur was the attorney but I was not
18 involved with Mr. Kalur. I was giving evidence
19 for the Plaintiff's attorney or for my patient
20 where I was treating physician.

21 Q. Did you testify in a Columbus case for Mr. Kalur?

22 A. Yes.

23 Q. Did you go down to Columbus and testify in that
24 case?

25 A. Yes.

1 Q That was the Tsitouris case.

2 A Yes.

3 Q How about in Cleveland last year, did you testify
4 in a case for him?

5 A I remember testifying in deposition. If you can
6 give me a name, it could be I just don't
7 recall it.

8 Q Frank, does that ring a bell?

9 A Frank?

10 MR. KALUR: No. On the
11 Frank case he was a treating doctor.

12 A I think Melissa Frank, I believe that was
13 Mr. -- I'm trying to think of Plaintiff's --

14 MR. KALUR: It was Lancione.

15 A Lancione. I was treating physician and
16 Mr. Lancione called me on that case.

17 Q All told then you've worked with Mr. Kalur what,
18 on about five cases?

19 A Five, six. I'm giving you a rough thing. It
20 could be four. It could be seven. I don't
21 know.

22 Q You know his firm represents doctors in
23 malpractice cases. You know that.

24 A Yes.

25 Q I presume each of those cases involved that

1 topic and you were giving evidence on behalf of
2 the defendant.

3 A I would have to be sure they were all malpractice
4 because some could have been some other injury.
5 I just don't remember them all. I would have to
6 look at each one but most were probably
7 malpractice.

8 Q How about other members of his firm, have you
9 worked with other members of his firm?
10 Jacobson?

11 A No, not Mr. Jacobson.

12 Q He's got about, what have you got, about 30
13 lawyers there, Jerry, 35 lawyers there?

14 MR. KALUR: Here in the
15 Cleveland office, I think 22.

16 Q Okay. I don't know all of their names. I
17 don't know all of them personally.

18 A I have been involved with the other attorneys
19 but I think Mr. Kalur has always been involved
20 as well. I don't recall any case where he
21 was not involved.

22 Q Did I understand you to say earlier that you
23 also were involved in a case in which he was
24 on the other side?

25 A Yes.

1 Q Did you give testimony in that case on behalf
2 of the plaintiff?

3 A Yes.

4 Q Did you give testimony in that case that a
5 doctor was negligent or had departed from
6 acceptable standards of medical care?

7 A If memory serves me correctly, in one case, yes.

8 Q Do you happen to recall the name of that case?

9 A I recall the first name was Erin but I can't
10 remember the last name. I know who the attorney
11 was.

12 Q That would help.

13 A It was Fred Weisman. It was a case with
14 jaundice, I believe. No, that was not that.
15 Now I've got to remember. It was Mr. Weisman
16 but I think it was a case against Booth Memorial
17 Hospital and I don't remember the name of the
18 patient.

19 Q Just in the last five years, just approximately,
20 Doctor, I realize that you may not be able to
21 give me an exact number, how many times have
22 you testified that a doctor has been negligent?

23 A To give you a rough guess, I mean it's almost
24 an impossible question. I'm just going to say
25 10.

- 1 Q You've testified 10 times in the last five years?
- 2 A That a doctor has been negligent?
- 3 Q Yes.
- 4 A I would say that's a fair estimate.
- 5 MR. KALUR: Off the record.
- 6 (A discussion was had off the
- 7 record.)
- 8 Q This has been in Court testimony or deposition
- 9 testimony?
- 10 A Yes.
- 11 Q Have you been asked to give opinions whether or
- 12 not defendant doctors have complied with
- 13 acceptable standards of medical care; in other
- 14 words, not been negligent in their treatment of
- 15 a patient?
- 16 A Yes.
- 17 Q In the last five years how many times have you
- 18 done that?
- 19 A Testified?
- 20 Q Or given reports or given depositions.
- 21 A I really have a hard time with the numbers.
- 22 I'm going to give you something that is a pure
- 23 guess and say that probably by report and by
- 24 testimony, it will probably go 15, 20.
- 25 Q Any idea, Dr. Horwitz, how much of your time is

1 concerned with legal matters such as this?

2 A. Very little because the time I put in is
3 usually at night. I do take very little of this.
4 Most of the time that I spend on this involves
5 my own patients where a Court case comes up.
6 So, I see more of those where I'm the treating
7 physician and called rather than accepting
8 de novo cases.

9 Q. Are you able to give me any kind of a ballpark,
10 whether it's time spent at home or on the
11 weekends or here in the office, as to what
12 percentage of your professional time involves
13 legal cases, be it testimony, reviewing records
14 for lawyers, whatever?

15 A. Can't be more than one percent of my time.

16 Q. One percent.

17 A. Yes. You must remember I work about a 65 to 70
18 hour week.

19 Q. So, about seven-tenths of an hour a week is
20 what you --

21 A. Seven-tenths of an hour a week. I'm sure that I
22 don't put in more time there because many, many
23 weeks go by where I never have anything like this
24 to deal with.

25 Q. How many hours have you spent on this case,

1 Dr. Horwitz?

2 A. Probably, I'd say, five, six hours at the most.

3 Q. Does your report of March 21, 1986, does that
4 include all the information that forms the
5 basis of your opinion, Doctor? You make
6 reference to seven enumerated items in the
7 first paragraph.

8 A. Well, when I wrote this report these were the
9 seven items.

10 Q. Since that time have you reviewed other material?

11 A. I was going to say I'd seen the CAT scan but
12 looking at my report I'd already seen it at the
13 time, so the only other thing I have seen since
14 this came up was this morning I looked for five
15 minutes literally at a few paragraphs of
16 Dr. Jacobs' deposition but that was the first
17 time I'd seen it.

18 Q. Did you, in fact, actually review the actual
19 CAT scan of this child?

20 A. Yes.

21 Q. Whom did you get that from?

22 A. Mr. Kalur supplied that to me.

23 MR. MONTELEONE: Where is that,
24 by the way, Jerry?

25 MR. KALUR: It's right here.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- Q Dr. Irwin Jacobs, do you know him, sir?
- A Very well.
- Q Is he a qualified and competent neurologist?
- A Definitely.
- Q Is he a qualified and competent pediatric neurologist?
- A Yes.
- Q Dr. Horwitz, would you tell me, please, from the information that you have, sir, what the diagnosis of this child is?
- A There is one primary diagnosis from what I can glean from it and that is mental retardation. Also at various times Dr. Jacobs has used the term atonic diplegia.
- Q Any other diagnoses?
- A Seizures.
- Q Does this child have cerebral palsy, Doctor?
- A Cerebral palsy is such a broad term that almost any child who is handicapped you can throw into that wastebasket term, so in the widest sense, yes.
- Q How do you define the term cerebral palsy as a professional? What parameters do you place on it?
- A First of all let me say that I only use that

1 term for convenience. I do not believe it's a
2 medical diagnosis. So, I use it for
3 communication purposes and for billing
4 purposes but it does not exist to me in
5 scientific terms. I'll define the way I use
6 it, if you wish.

7 Q I'd like you to do that.

8 A Cerebral palsy is a term used to describe
9 children who have nonprogressive motor disorders
10 of the nervous system acquired prior to, at
11 or shortly after birth.

12 Q Now, when you use the term for billing
13 purposes, what does that mean? It is
14 recognized by some group of people, a recognized
15 medical diagnosis; is it not?

16 A Yes.

17 Q You just don't happen to like the term cerebral
18 palsy or you don't recognize it as a medical
19 diagnosis; is that what you are saying?

20 A I don't recognize it as a medical diagnosis.

21 Q Are you able to tell us what degree of mental
22 retardation this child has?

23 A From the reports that I've seen I would have to
24 say that it is probably in the moderate range
25 of mental retardation.

1 Q. If you were to recognize cerebral palsy as a
2 medical diagnosis, would you agree that this
3 child also has cerebral palsy?

4 A. Yes, I would say that it has very mild features
5 of cerebral palsy.

6 Q. In your opinion, Dr. Horwitz, what is the cause
7 of this child's mental retardation?

8 A. What is the cause?

9 Q. Yes, sir.

10 A. Not substantiated.

11 Q. I'm sorry?

12 A. The cause is not substantiated. It's unknown
13 if you want to use that term.

14 Q. Your opinion is that you don't know what the
15 cause of this child's mental retardation is.

16 A. It's my opinion that the cause is not known,
17 including my own not knowing.

18 Q. Forgive me, I don't mean to be argumentative.
19 You don't presume to say what another physician
20 knows or does not know about the cause of
21 this child's mental retardation.

22 A. Not at all, no. The medical records speak for
23 themselves that the physicians have not
24 established a cause. That is my interpretation
25 of their records.

1 Q You make that conclusion then based upon the
2 records alone.

3 A Based upon the records of Dr. Jacobs basically.

4 Q What records of Dr. Jacobs have you seen?

5 A I have seen written records of his care of the
6 child and the letters he has sent to the
7 referring physician, to the child's primary
8 physician.

9 Q I presume since you don't recognize the term
10 cerebral palsy, it would not be a good idea to
11 even ask you what the cause of the child's
12 cerebral palsy is since you don't believe that
13 he has cerebral palsy.

14 A Let me clarify that. I don't use the term
15 because I think there are better terms for
16 physician to physician communication. But the
17 term is an acceptable term and I do use it to
18 parents because it is a used term. So, I don't
19 want to imply that I never say cerebral palsy;
20 that I don't use it.

21 What I'm trying to say, as a scientific
22 term which a physician would use to another
23 physician, cerebral palsy is a poor term but I
24 am forced to use it because it is in use. I
25 can't not use it, so that I don't want to

1 mislead you on my answer.

2 Q Well, then let me ask you what the cause of this
3 child's cerebral palsy is? Do you have an
4 opinion of that?

5 A I have an opinion.

6 Q Would you tell us what it is, please?

7 A The cause of the child's cerebral palsy is due
8 to a dysfunction, malfunction of the motor
9 system of the brain.

10 Q What agent or agents are responsible for the
11 dysfunction of the central nervous system of this
12 child?

13 A No agent or agents have, in my opinion, been
14 demonstrated in this child to be responsible.

15 Q Looking at the hospital records of both the
16 mother and the child, and you've seen both of
17 those, haven't you?

18 A Yes.

19 Q Is there anything in those hospital records,
20 Doctor, to suggest to you what the cause of the
21 baby's mental retardation is?

22 A No, sir, there is not.

23 Q How about the cerebral palsy?

24 A There is nothing in the records to suggest the
25 cause of the cerebral palsy.

1 Q You do a lot of work in this area. I know you
2 do some consulting. I know that you are
3 authored in this area. Would you be kind
4 enough to identify for me some of the causes
5 of cerebral palsy?

6 A Certainly. Malformation of the brain.

7 Q Is there any evidence of that in this child?

8 A No.

9 Q What else?

10 A Damage from infection.

11 Q Any evidence of that in this child?

12 A No. Chemical abnormalities.

13 Q Such as?

14 A Various biochemical disorders such as amino-
15 acidurias.

16 Q Any evidence of that in this child?

17 A To my knowledge I can't see that it's ever been
18 identified.

19 Q What kind of test would you propose to perform,
20 Doctor?

21 A You would do blood and urine screening.

22 Q A specific kind of screening, I take it?

23 A Yes, it's called metabolic screening.

24 Q If that testing were done today would that give
25 you an indication, Doctor, whether or not that's

CAUSES
MENTAL
RETARD

1 the etiologic factor?

2 A. If the test showed abnormal chemicals it would
3 be the etiologic factor. If the test did not
4 show it, it would not be the etiologic factor.

5 Q What other factors?

6 A. Trauma.

7 Q Any evidence of trauma in this child?

8 A. No. Birth asphyxia.

9 Q That certainly is a cause of mental
10 retardation, isn't it?

11 A. Yes.

12 Q It is a recognized cause also of cerebral palsy;
13 is it not?

14 A. That is correct.

15 Q Does that cover the major categories?

16 A. No.

17 Q It does not, I'm sorry.

18 A. No.

19 Q Go ahead.

20 A. It doesn't cover it because the biggest
21 category, well, let me just back off. There
22 are intra-uterine events that happen to the
23 fetus. For example, infarcts of the brain that
24 can just happen in utero. That accounts for
25 a substantial number.

1 Q Any evidence of that in this child?

2 A No.

3 Q Continue, please.

4 A There is postnatal hypoxia, afterbirth
5 complications that occur to the infant.

6 Q Any evidence of that in this case?

7 A No.

8 Q Okay.

9 A Then there is the biggest category of all which
10 accounts probably for 80 percent of retarded
11 children.

12 Q And that is?

13 A Unknown.

14 Q Does that cover all of the major categories?

15 A I think it covers the major categories.

16 Q Are you able to tell from Dr. Jacobs' reports
17 or testimony or records what the prognosis for
18 this child is in the future?

19 A I think you can draw an assumption from the
20 reports.

21 Q One that you are comfortable with professionally?

22 A Yes.

23 Q Would you tell us what that is, please?

24 It would be my opinion from what Dr. Jacobs
25 has written that this child is retarded and

1 will be retarded and will be always retarded.

2 Q Will he be able to live independently, Doctor?

3 A I've never seen this child so I'm taking it from
4 the reports, but judging on the level of
5 retardation described I would have to say
6 probably not.

7 Q Will he ever be able to in his lifetime engage
8 in any kind of employment?

9 A I wouldn't want to answer that because I think
10 the tests that I have are of a very young child
11 and the word employment is very broad. There
12 are retarded children who do some very, very
13 menial repetitive tasks. Even the severely
14 retarded can be taught to do that, or a
15 significant number of severely retarded, and
16 they do earn nickels and dimes. So, in that
17 sense he might be able to earn something. I
18 just don't know. I think it's too early to
19 pass that opinion.

20 Q But before we leave it, from what you are telling
21 me, although it is too early, are you able to
22 say to any kind of reasonable degree of medical
23 certainty that this child will ever do more than
24 minimum wage kind of work?

25 A In all probability he will not.

1 Q. He is on a prescribed course now of -- I use the 20
2 term broadly -- occupational therapy, physical
3 therapy, speech therapy. Do you agree that he
4 needs those kinds of things?

5 A. I would agree that it's a good idea to give it
6 to him.

7 Q. Are you aware, Doctor, of anything during the
8 course of this mother's pregnancy that you can
9 pinpoint as a causative factor in the mental
10 retardation or cerebral palsy of this child?

11 A. No.

12 Q. Do you feel that the Bendectin that she took for
13 a couple of days, maybe as long as a week, has
14 any relationship whatsoever?

15 A. No.

16 Q. You know that when the child was born, there is
17 a report at least in the hospital records that
18 the cord was wrapped around the child's legs.

19 A. Yes.

20 Q. Does that play any factor in this case at all,
21 Doctor?

22 A. No.

23 Q. Are you planning in either the arbitration of
24 this case or the trial of this case to express
25 any opinions on the standard of care exercised

1 by the obstetrician, Dr. Hughes?

2 A. No.

3 Q. Are you planning in this case either in trial or
4 arbitration to express any opinion on the
5 standard of care utilized by the hospital or
6 the nurses or any other employees of the
7 hospital?

8 A. I have difficulty with that. I wasn't asked
9 specifically to address that issue.

10 Q. I understand.

11 A. So, I don't know if it came up if I was asked
12 how I would answer.

13 Q. Let me ask you now then, okay?

14 A. Yes.

15 Q. I want to be fair with you, of course.

16 A. Yes.

17 Q. I just want to cover the bases to make sure if
18 you are going to be expressing any opinions
19 other than on the causation aspects of this case,
20 I'd like to know what they are.

21 A. Sure, no problem.

22 Q. In looking at the labor room records you can see
23 that towards the very end of this mother's
24 first stage of labor, there was a period of 45
25 minutes in which the fetal heart rate was not

1 monitored or checked or recorded.

2 A. Yes.

3 Q. Does that comply, Doctor, with acceptable
4 standards of medical care?

5 MR. SWITZER: Objection.

6 Q. You may answer the question.

7 A. Again I'm not an expert, but it's my
8 understanding that usually the fetal heart
9 towards the end of the first stage is listened
10 to more often.

11 Q. That's important, isn't it?

12 A. It should be done.

13 Q. Are you also aware that towards the end of the
14 first stage of labor in this case the mother
15 did not have a vaginal examination for an hour
16 and 15 minutes?

17 A. I'm not aware. I really didn't look at that
18 particular issue.

19 Q. Will you accept my word for that?

20 A. I will accept your word for that.

21 Q. Does that, Doctor, comply with acceptable
22 standards of medical care?

23 MR. KALUR: Objection.

24 MR. SWITZER: Objection.

25 A. I would offer no opinion on that.

1 Q You have no opinion on that.

2 I I have absolutely no opinion. I wouldn't offer
3 an opinion.

4 Q What does the term or the generic category
5 TORCH titers mean?

6 I. It refers to the measurement of antibodies
7 basically against a variety of congenital
8 infections so that the letters stand for
9 toxoplasmosis, rubella, cytomegalovirus, herpes.

10 Q Those were not done in this case.

11 A I haven't seen them done in this case.

12 Q In your opinion should they have been done,
13 Doctor? Was there any reason to have them done?

14 A Yes.

15 Q There was?

16 A Yes.

17 Q What was the reason to have these done?

18 A The reason to have them done is when you have a
19 case of retardation and/or cerebral palsy and
20 you can't establish the cause by history or
21 physical examination, establish the cause to
22 your own satisfaction, then there are some
23 basic tests you do to try and find out if any
24 cause is demonstrable, for example, the TORCH
25 infections. So, I would have done them.

1 Q. Are you telling us, Dr. Horwitz, that had they
2 been done in this case they would have changed
3 your opinion in any way?

4 A. They could have but not necessarily. Let me
5 just make it clear. If they are negative, they
6 are helpful to say that those were not the
7 causes. If they are positive, you have got to
8 be careful when you did them. If you do them
9 shortly after birth they are valid.

10 Q. If you do them now?

11 A. If you do them later you have problems because
12 of acquired infection. There are ways to try
13 and get around this. If they were positive,
14 you may or may not have established the cause of
15 the child's retardation.

16 Q. How valid would those tests be if they were done
17 now, Doctor?

18 A. I would say they basically would be close to
19 worthless probably.

20 Q. Who then, if anyone, should have done these
21 TORCH titers?

22 A. The only answer I can say is that I don't know
23 from my own knowledge when and who first
24 started suspecting that this child was not
25 normal, so I really can't answer the question.

1 If the family doctor at two months was concerned, 25
2 he should have done them. If nobody got concerned
3 for the first year or 18 months, then probably
4 it's hardly worth doing them at that point so
5 no one would have done them. It would have
6 depended on the circumstances.

7 Q Let's go to your report, if we can, for a little
8 bit, okay?

9 A. Sure.

10 Q. I want to talk about the second paragraph on the
11 first page. The last sentence or the second
12 last sentence discusses the Apgar scores of
13 this child.

14 A. Yes.

15 Q. All right? Does the fact that this child was
16 given an eight and a nine at one and five
17 minutes respectively have something to do with
18 your opinion in this case?

19 A. It's just one factor to do with my opinion.

20 Q. You don't mean to imply by that statement or
21 mean to say that just because a baby has a
22 normal Apgar that that baby is not going to go
23 on to have cerebral palsy or mental retardation.

24 A. I agree with that statement.

25 Q. Does the fact that a child has an eight or nine

1 Apgar mean, Doctor, also that the child did not
2 have some asphyxia or anoxia3

3 A. That does not mean that.

4 Q. From the little reading I've done in this area,
5 there is some wide debate about the value of
6 Apgar scores anymore, isn't there, Doctor?

7 A. I think there is debate about the use of Apgar
8 scores for purposes for which they were not
9 intended, but that doesn't mean that Apgar
10 scores don't have a use.

11 Q. They do have some use.

12 A. Yes, they have some use.

13 Q. As I understand, Virginia Apgar, she was an
14 anesthesiologist, wasn't she?

15 A. Yes.

16 Q. She developed this handy little test to
17 determine whether the child needed to be
18 resuscitated in any way once the child was
19 born.

20 A. That's the basic reason, and I think also a
21 score is something that becomes a physician to
22 physician communication system so you don't
23 use vague generalities.

24 Q. Certainly it is not meant to determine whether
25 or not there was some anoxia or asphyxia

1 intra-uterine, does it?

2 A. I don't think that that statement is quite
3 correct. It wasn't developed for that purpose
4 maybe and yet it was. In its own way, it can
5 be used to determine that.

6 Q. But, Doctor, a normal Apgar score does not rule
7 out that there may have been some anoxia or
8 asphyxia.

9 A. Absolutely, that's correct.

10 Q. Your report indicates in the last sentence of
11 the second paragraph, "The neonatal course was
12 essentially unremarkable except for some
13 mottling of the skin."

14 I was looking through the baby's chart
15 and it appeared that the child was mottled
16 consistently.

17 A. Yes.

18 Q. Some nurses described it as very mottled. Some
19 described it as partially. But in any event,
20 throughout the child's stay at the hospital he
21 was mottled.

22 A. Yes.

23 Q. Is that of some importance or significance to
24 you, Doctor?

25 A. Per se, no.

1 Q. What can be the cause of that kind of mottling?

2 A. The commonest cause of mottling is just a
3 physiologic cause that the infant's circulation
4 to the extremities particularly is not as well
5 developed as to the central organs, so infants
6 will mottle very readily and many of them who
7 were perfectly healthy looked pretty mottled.
8 It can be part of a more global thing.

9 Q. Meaning?

10 A. You can see mottling as part of an overall sick
11 baby syndrome but then the mottling is just one
12 feature of a sick baby with a number of other
13 parameters. But as an isolated finding,
14 mottling is just an isolated finding.

15 Q. Can it be an indication, Doctor, that there
16 has been some damage to the central nervous
17 system?

18 A. I would have to say that mottling may be part
19 of a whole syndrome that indicates a problem
20 with the nervous system. But in my opinion
21 mottling per se as an isolated incident is not
22 indicative of involvement of the nervous
23 system.

24 Q. So that in and of itself what you are saying in
25 this case is the fact that you see mottling by

1 itself does not give you any strong suspicion
2 that there was some damage to the central nervous

3
4 A.

5 Q If you saw it in conjunction with other symptoms
6 or signs then you might become more concerned.

7 A That's fine.

8 Q Did you look at Mrs. Cacaro's testimony at all?

9 A.

10 Q

11
12 in

13
14 the word taxed, t-a-x-e-d?

15 MR. MONTELEONE: I don't know

16 that she did. That's my term.

17 MR. KALUR: No, no. I'm

18 just asking if that's what you said. I

19 didn't hear what you said.

20 Q Taxed, t-a-x-e-d, yes. She noticed the child
21 having some difficulty breathing, respiratory
22 difficulties.

23 A I think that the problem there is that there are
24 variations in the respiratory rate and the
25 respiratory path in newborns. I'm not

1 questioning her abilities. It's very difficult
2 to know what somebody means by that because I'll
3 see this reported up here and we look at it and
4 it's just a normal variation of breathing. So,
5 I don't know what she meant by it.

6 Q I can appreciate that. What do you consider to
7 be the normal range of respirations per minute
8 for a newborn?

9 A On a newborn it's going around 60 and there are
10 irregularities in there.

11 Q Sixty?

12 A Usually 40 to 60.

13 Q Per minute?

14 A Yes.

15 Q Did you take into consideration in your opinion
16 here that the mother did, however, say that the
17 child appeared to be having some respiratory
18 difficulties?

19 A Yes.

20 Q Did you also see her comment that the child had
21 a low body temperature?

22 A Yes.

23 Q Or hypothermia?

24 A Yes.

25 Q Is that at all important or significant, Doctor?

1 A. Low body temperature is something that you
2 obviously try and avoid in infants because
3 infants are required to have normal body
4 temperature.

5 Q. Like all of us.

6 A. Like all of us. I took into account what she
7 said but in my opinion it was not compatible
8 with other aspects of this child's let's say
9 overall performance in the newborn period.

10 Q. Hypothermia or a low body temperature, can that
11 If be caused by injury to the central nervous
12 system?

13 A. There are a wide variety of causes including
14 injury to the nervous system.

15 Q. How about the fact that the child, according to
16 the mother, had a very poor suck when it was
17 nursing? Was that of any significance to you,
18 Doctor?

19 A. You would have to look at the facts of whether
20 the child had a poor suck or not because the
21 poor suck involves feeding.

22 Q. Certainly.

23 A. If the child has a poor suck it will not get
24 its adequate amount of nutrition. If it doesn't
25 get its adequate amount of nutrition it will

1 lose weight very rapidly and will have to be
2 artificially fed in quick time if it's not to
3 become dehydrated. There are no indications
4 to me from the record that such occurred.

5 Q What, that the child had --

6 A Had a profound weight loss and required to be
7 supplemented. Children who can't suck can't
8 feed.

9 Q Well, the mother having had one other child
10 before this and having nursed that child for a
11 good period of time, you would certainly place
12 some credence in her decision or her statement
13 as to whether the child had a poor suck or not,
14 wouldn't you?

15 A Well, I put credence that she noticed the
16 difference between the sucking of one child and
17 another and often on the first day or so
18 infants don't suck very well. But if this
19 child had a significant sucking abnormality, then
20 the baby would have to have had supplementary
21 feeding. It would have difficulty. It would
22 be noticed by whoever gives it a bottle or
23 breast fed it and the baby's weight would drop.
24 That's a common problem. It's nothing unusual
25 for us.

Q. Did this baby's weight drop, Doctor?

A. All babies' weight drops some but my recollection is, and I haven't looked at it for months, there was no significant weight drop, not out of the ordinary.

Q. It's not uncommon for a normal healthy baby to lose six or eight or 10 ounces during the first three days after birth, right?

A. That is correct.

Q. Assuming that the mother were correct, for the moment, that this child did have a poor suck, is that an indication of early neurologic difficulties, Doctor?

A. A poor suck may be an indication of neurologic difficulties.

Q. Did you notice anything else in the mother's testimony that you felt could be caused by some early neurological problems the child was having?

A. I don't recall any others.

Q. She said the baby was limp. Does that make any difference to you? Is that a sign of a neurological abnormality?

MR. KALUR: Show an

objection. I don't remember her saying

1 that but show my objection.

2 A. Again the word limp would have to be defined
3 carefully. I don't recall seeing it but limp
4 is something that you really have to identify
5 by careful examination.

6 Q. Lay people I guess don't go around defining
7 those things very good. They just make a one
8 word description.

9 A. I'm not criticizing them. They notice something
10 and I think all their notations always are
11 worthy of consideration, but often the term is
12 used very loosely.

13 Q. Assuming just for the moment, if you will, that
14 what the mother says is correct in this regard,
15 that the child's breathing was taxed, that the
16 baby was mottled, that there was a low body
17 temperature and that the child had a poor suck,
18 are any of these, Doctor, suggestive of oxygen
19 deprivation at the time of birth?

20 A. No.

21 Q. Either individually or together.

22 A. I don't want to be contentious but the way the
23 question is put, obviously you have to look at
24 a whole picture, not at a single symptom or
25 a couple of symptoms. You have to look at

ComPAR
16 13.66

1 severity in the whole behavior of the baby.

2 So, I'm going to answer the question by saying
3 as presented it is not consistent in my opinion
4 with significant oxygen deprivation at the time
5 of birth, during birth.

6 Q Well, how much oxygen deprivation does a fetus
7 need to become mentally retarded, Doctor? Can
8 you quantify it?

9 A. Yes.

10 Q Please do.

11 A. I'll quantify it by saying in order to become
12 retarded an infant requires to be a near miss
13 death.

14 Q Would you explain that, please?

15 A. Yes.

16 Q Because I'm having some trouble following you.

17 A. In order to do sufficient damage to cause
18 mental retardation by a process of hypoxia, the
19 hypoxia has to be of such severity that the
20 infant very close to dies, so that the outcome
21 is either death or just surviving with
22 retardation. Any lesser degree of hypoxia
23 will not cause mental retardation.

24 Q Will it cause cerebral palsy?

25 A. No.

1 Q Will it cause any abnormality?

2 A No.

3 Q How does one account for the difference between
4 a child then who is mildly retarded or moderately
5 retarded or severely retarded? How does one
6 account for those differences, Doctor?

7 A Let me state right away that the majority of
8 cases of retardation have nothing to do with the
9 birth process and are not engineered by any
10 anoxia or ischemia in birth period. So that
11 if you took 100 retarded children, just go to
12 a school for the retarded, the relationship of
13 those to the birth process will be a very small
14 minority. That's the first thing.

15 Q Eight to 10 or something like that out of
16 those 100?

17 A I think that's an excessive estimate. I think
18 you'd have to come down to more than likely two
19 to three. It's tough to get the figures because
20 populations are different, but I'm just going
21 to say two, three, four percent may relate to
22 birth. I think even that might be high. You're
23 talking about retardation rather than cerebral
24 palsy?

25 Q We can talk about both but right now you are

1 talking about mental retardation.

2 A Right. It's a very small minority, two, three,
3 four, five percent that relate in any way to
4 birth. If we confine it to that let's say
5 five percent in whom it is related to birth,
6 the statement stands that those are cases of
7 near miss deaths. They very nearly died.

8 Now, the recovery of the brain from a
9 near miss death can have a spectrum from total
10 recovery, which is the majority of infants who
11 survive, to mild retardation to severe
12 retardation. Am I making that clear?

13 Q I think I follow what you are saying. You are
14 telling me that the degree of lack of oxygen
15 is not necessarily correlated with the degree
16 of mental retardation.

17 A I'm only saying it in the sense that the degree
18 of lack of oxygen has to be severe. That's the
19 first premise. It has to be profound. Go
20 beyond the word severe. It has to be profound
21 and in the survivors thereof, it does not
22 correlate with a little more or a little less.
23 It just is sort of the luck of the draw if you
24 survive.

25 You can hit a point of no return. That's

the point. If you hit the point of absolutely no return, you die. If you are this side of the point of no return and you don't die, depending on how profoundly severe, that severity will determine whether you come out as a normal citizen, as a mildly affected citizen or as a profoundly affected citizen. So, it's in very narrow confines. You've got a little room to maneuver in that narrow spectrum.

Q Are you able to cite me to any authorities that support that opinion for my own edification?

A. Sure.

Q Would you, please?

A. I'll cite you to John Freeman.

Q John Freeman? Where is Dr. Freeman at?

A. Johns Hopkins.

Q Okay.

A. Referable more to the cerebral palsy side, I will cite you to Karen Nelson.

Q John Allenberg?

A. Yes, and I will cite you in the general sense to the outcome of severe asphyxia and the clinical manifestations, etc. as shown by Vopi.

Q John Vopi, is it?

A. Joe Vopi.

1 Q Those are all authorities that support your
2 opinion?

3 A Yes.

4 Q Was Freeman the lead author or the editor of that
5 book put out by the Department of Health and
6 Human Services? I think it's called Prenatal
7 and Perinatal Disorders.

8 A I think he was the editor, yes.

9 Q You know which book I'm talking about. It's
10 that black book.

11 A Yes, published by the NIH, I think, yes.

12 Q You are aware, from what Mr. Kalur has told you,
13 I'm sure, that Pat Cacaro and John Cacaro say
14 that when she was brought into the delivery
15 suite to deliver John, Jr., that she was placed
16 in a kneeling position on the table with her
17 buttocks on her heels, if you will, and told to
18 stay in that position. This is her allegation.
19 It's her statement. You also know that that's
20 not reported in the records.

21 A Yes.

22 Q What I want to know from you is can such a
23 position cause deprivation of oxygen to the
24 fetus?

25 A Depends how you do it. I mean that position is

1 adopted by women in countries -- where I come
2 from that's how they deliver their babies.

40

3 Q. Where is that?

4 A. In Africa. They get in the crouched position
5 on their buttocks and crouch and deliver their
6 babies that way.

7 Q. They stay in that position for 40 minutes.

8 A. I have never watched that. That's what they
9 did in the tribal areas. I didn't go there.

10 Being the white man I delivered them in the
11 conventional western way. We never allowed them
12 that privilege but many people who have written
13 about it -- I haven't looked at this for 30 years --
14 will comment how easily they deliver and that
15 they seem to be able to do it in that position
16 without difficulty. I remember at medical
17 school there was a raging argument whether we
18 should allow some women to deliver that way.

19 Q. What was the outcome of that? Did you decide
20 to let her deliver that way or not?

21 A. Of course, we didn't because western ways
22 demanded lying comfortably in the bed with your
23 legs up and getting some morphine and the other
24 stuff.

25 Q. There were also some safety considerations,

weren't there?

A. I don't think that was an issue at all. You had to go against all of the established God and country, so I don't know about that. I was a student then and I was just being taught. Maybe they did it. I really don't know. I never followed up on it. It's not an area of interest.

Q. In any event, can the position that Pat Cacaro says she was placed in prior to delivery cause asphyxia, anoxia or oxygen deprivation to the fetus?

A. Can I make an assumption in that regard?

Q. Surely, go ahead.

A. I'm going to make an assumption because I can't personally visualize the position accurately. But I'm going to make an assumption if you put somebody in a position where the baby is trying to get out and you by some manner totally obstruct that baby, that you prevent the baby coming out totally and you therefore obstruct the labor, that you would have the potential for causing injury to the baby if you did this for long enough. I'm assuming that that is so.

Q. That's based upon, of course, your expertise,

1 your training as a doctor, isn't it?

2 A. My training as a doctor is fundamental.

3 Q It's not a good idea to obstruct delivery. When
4 the baby is ready to come out the baby is
5 supposed to be delivered, true?

6 A. That's fine.

7 Q To obstruct a delivery can be harmful to the
8 baby.

9 A. I think that you would have to say it can be
10 depending upon the severity and duration of
11 obstruction.

12 Q Well, using the position that she says she was
13 in, how long would she have to be in that
14 position before there could be such damage to
15 the fetus?

16 MR. KALUR: I'm going to
17 object. You are assuming that we really
18 obstructed delivery and it's contrary to
19 any assumption he just made. He told you
20 he couldn't envision that situation.

21 MR. MONTELEONE: No, I don't
22 think he said that. Read the question
23 back to the Doctor.

24 (The question was read by the
25 Reporter.)

1 A I think that question is not really answerable.

43

2 Q You can't answer it?

3 A I think there are too many variables in that
4 question to make a straight answer to that.

5 Q If you can't give me an answer that's all right.
6 I'm not trying to pressure you on this.

7 A I'm also not trying to be difficult. If you
8 obstructed the labor, making that assumption,
9 you would have to know how severe the

10 contractions are, how frequently they are coming.

11 It's an issue of obstructed labor. If
12 contractions die down a little bit that baby
13 can probably tolerate that position perfectly
14 well with no harm whatever. If you did it for
15 four hours with active contractions every two
16 minutes, you would either harm the baby or
17 rupture the uterus.

18 I think you are raising a lot of variables
19 in terms of how many contractions. I don't want
20 to not answer the question but I don't think
21 I can in the way you've asked it.

22 Q At the end of the first page on your report,
23 your final sentence regards the position that
24 we just talked about and the temperatures of
25 the child and you say, "Neither assertion is

1 documented in the medical records."

2 A. Yes.

3 Q. Is that important to you, Dr. Horwitz, that
4 it's not documented in the medical records?

5 A. Not particularly.

6 Q. We can agree, I think, that there have been
7 situations and unfortunately there are
8 situations where certain items just are not
9 put into medical records; isn't that true?

10 A. That's fine.


11 Q. Sometimes that's because there is information
12 there that would be harmful or suggest
13 negligence on the part of either the doctor or
14 the hospital.

15 A. Well, I don't know all that. I mean most times
16 things are not put in the records. They are
17 just acts of good recordkeeping. That's the
18 general reason things are not put in.

19 Q. There are other reasons, aren't there?

20 A. I'm not aware personally of those things so I
21 assume they occur.

22 Q. I wouldn't mean to suggest, of course, that you
23 would have any part in that. I'm just saying
24 we all know, we are all adults, that there are
25 times when harmful information which may suggest



1 negligence on the part of a doctor is just not
2 put into a medical record.

3 A. All right. I'll assume that that happens.

4 Q. Onto the second page of your report, under the
5 category of in the neonatal period.

6 A. Yes.

7 Q. What do you define as the neonatal period?

8 A. The neonatal period is actually the first 28 days,
9 but what I was referring to here, the word
10 should probably have been immediate, the
11 immediate neonatal period, so take it that's what
12 I'm referring to.

13 Q. Five to seven days, is that what you are talking
14 about?

15 A. Even shorter than that.

16 Q. Even shorter. We already talked about the
17 Apgar.

18 Part of your opinion is based upon the
19 fact that there were no documented seizures
20 distress occurring. You know
21 that at some later time the child did have
22 seizures. Don't you know that?

23 A. Oh, yes, that's later. I'm talking about day
24 one, two.

25 Q. Doesn't it make any difference that the child

1 had seizures later on 3

2 A. Absolutely not.

3 Q. It doesn't suggest to you an oxygen deprivation?

4 A. Absolutely not. On that I'll be 100 percent
5 categoric.

6 Q. Are you telling us that every child who has
7 oxygen deprivation at birth is going to go on
8 to seize or have a seizure within the neonatal
9 period?

10 A. No.

11 Q. The statistics say otherwise, don't they?

12 A. Depends what you are talking about, lack of
13 oxygen.

14 MR. KALUR: You mean
15 sufficient to cause brain damage?

16 MR. MONTELEONE: That's what
17 I'm talking about.

18 MR. KALUR: That wasn't
19 the question.

20 A. All right. That's a big difference. In children
21 with deprivation of oxygen sufficient to cause
22 brain damage, seizures will occur in the vast
23 majority in the immediate neonatal period.

24 Q. And that's defined again as?

25 A. Well, let's just stick with the first 72 hours.

1 I'm making that term as mine for immediate.

2 I don't know that that's the term. I'm just
3 saying in the first 72 hours, the vast majority
4 will have seizures.

5 Q I just want to be clear. Not all of these
6 babies seize, though, do they?

7 A Minority don't.

8 Q Can seizures occur without there being
9 documentation of them, Doctor?

10 A It's possible.

11 Q I'm sorry?

12 A It's possible, if the nurse doesn't notice it
13 or the mother doesn't notice it.

14 Q You, as a neurologist, I'm sure you have
15 occasion to treat many, many children who have
16 seizures, true?

17 A Yes.

18 Q You prescribe medications for such.

19 A Yes.

20 Q Doctor, you haven't seen all of your patients
21 have seizures, have you?

22 A No, I don't see them all have seizures.

23 Q But if the mother tells you that they do seize
24 and describes to you a set of signs that
25 indicate that there has been a seizure, you

take her word for it, don't you?

A. Yes, most times.

Q. Especially if they have an abnormal EEG.

A. Not necessarily.

Q. It's helpful if they have an abnormal EEG, true?

A. Under certain circumstances, not always.

Q. This baby had an abnormal EEG; did he not?

A. Not in the neonatal period.

Q. Not in the neonatal period. He had one in
1 May of 1985. He had a markedly abnormal EEG.

1 A. Yes, he had it then.

1 Q. What then would be the cause of the seizures,
1 Doctor, if it weren't oxygen deprivation?

14 MR. KALUR:

You mean the

15 seizures he had much later in life than

16 at the time of birth, is that what you

17 mean, or seizures in general?

18 Q. I'm talking about the seizures he had beginning,
19 according to the mother, in January of 1984.

20 A. His retardation and the cause of his retardation.

21 Q. You also indicate under Item 3 that there was
22 no period of impaired consciousness. How are
23 we to know whether this occurred or not?

24 A. It is so obvious when the baby has impaired
25 consciousness. That baby does not wake up, does

1 not suck. I mean that mother notices. The
2 nurses notice. It's fundamental. It's right
3 there. It doesn't have to be seen. It's there
4 for the seeing.

5 Q May be difficult to arouse?

6 A It's more than difficult to arouse.

4 Q Can't wake the baby up?

8 A The baby is profoundly stuporous or comatose.

9 Q Does this happen in all cases, Doctor?

10 A In all cases of significant asphyxia?

11 Q Yes.

12 A Yes.

13 Q What are you speaking of when you talk about
14 there not being any focal or generalized
15 neurological abnormality being documented?

16 A The neonatal period. Nobody documented by
17 examination any focal, one-sided focal abnormality
18 of function.

19 Q Give me an example.

20 A A paralyzed arm, a leg that's not kicking, a
21 face that's skewed. It's not moving. That's
22 what I meant by focal.

23 Q This again is in that 72 hour period that
24 you are talking about, right?

25 A Yes.

1 Q Did you notice in the chart, Dr. Horwitz, that
2 there were no physician progress notes for the
3 first 72 hours of this child's birth? Did you
4 notice that?

5 A I just don't remember looking. If you say
6 there weren't I'm willing to accept that.

7 Q How do we know then what the pediatrician was
8 finding or not finding if there are no notes
9 in the hospital record?

10 A Well, it's not only the pediatrician. There are
11 nurses' notes in the record. If there is a
12 nonmovement of one side or the baby is severely
13 hypotonic or not moving, the nurses' notes
14 reflect that. The nurses write that.

15 Q Is it your opinion that all babies who have
16 significant oxygen deprivation that go on to
17 become mentally retarded will have some paralysis
18 of some kind in the immediate neonatal period?

19 A Well, the word paralysis, I wouldn't use that.
20 But if I can just say that they would have
21 motor abnormalities? Yes, all.

22 Q All of them would.

23 A All.

24 Q These motor abnormalities would manifest
25 themselves in what way?

- 1 A. Either focal paralysis or more common generalized
2 movement and severe hypotonia, profound,
3 unmistakable.
- 4 Q. Let's go on to the subsequent development of
5 this child after the first 72 hours. You say
6 that there has been substantiated normal head
7 growth?
- 8 A. Yes.
- 9 Q. Is that significant to you?
- 10 A. Yes.
- 11 Q. According to Dr. Jacobs, the baby's head size
12 was in the 30th percentile when the baby was
13 born.
- 14 A. Yes.
- 15 Q. Is that normal or abnormal?
- 16 A. That's normal.
- 17 Q. To be in the 30th percentile?
- 18 A. Yes.
- 19 Q. And to remain that way up until now?
- 20 A. Yes.
- 21 Q. I never was very strong in statistics, but
22 doesn't 50th percentile indicate the mean or the
23 normal range?
- 24 A. 50th is mean but theoretically in the population
25 sample 50 children will have heads above the

1

50th and 50 will have them below the 50th.

52

2

So, 30th just means that if you take 100

3

children of the normal range, 70 will be larger

4

than this given child and 30 will be lower than

5

this given child.

6

Q. You say that's normal.

7

A. Sure.

8

You looked at the CT scan that was done at

9

Metro General.

10

I did.

11

Are you qualified, Doctor, to read those CT

12

scans?

13

I'm qualified to look at them and express

14

opinion, but it depends what you call read.

15

Is this something you feel competent to say

16

that you are expert in, reading CT scans?

17

A. I'm expert at looking at them. I'm being

18

careful about the word reading because reading

19

usually implies the person who issues the

20

final written report on interpretation in a

21

hospital. I don't want to say that I do it

22

because I don't. This hospital doesn't permit

23

me to do that.

24

Q. You are not qualified to do that.

25

A. I didn't say that. They don't permit me to.

1 But technically, no, you are correct, because

2 somebody who is going to read that report is
3 somebody who has had extended training. I have
4 not. But I am qualified to look at that x-ray
5 and render an interpretation of that x-ray.

6 Q You say that you don't see any atrophy.

7 A That's correct.

8 Q The person who read it --

9 A Yes.

10 Q -- found there to be atrophy; did he not?

11 MR. KALUR: I'm going to

12 object to that. That's not what it says.

13 A The person who read it saw some changes in the
14 sylvian fissure in one thing up top but I'm
15 not sure. Even if they read it as atrophy I
16 would beg to differ with them.

17 Q The person who is qualified to read these at
18 Metro General and who reported it as such said
19 there was "mild atrophic process in these
20 areas," referring to the ones above.

21 A Right.

22 Q You are telling me you disagree with that
23 interpretation.

24 A I do.

25 Q Would you give me the reason for your

1 disagreement, please?

2 A The reason for the disagreement is, firstly,
3 I've looked at these scans and the one part
4 where they talk about the Sylvian fissure being
5 larger on one side than the other, both Sylvian
6 fissures are really big and they are normally
7 big in small children and this film is slightly
8 rotated which makes interpretation
9 questionable. As soon as you get rotation of
10 the films, the way the baby's head is turned,
11 you are going to get differences on the two
12 sides, so you can overinterpret them, and the
13 little thingy they saw at the very top, it's so
14 high up, you are right to the very top of the
15 skin, and if you just cut tangentially into one
16 of the groups, the parietal of the brain, you will
17 have that appearance.

18 In my opinion that is not significant at
19 all so I would disagree with that.

20 Q A child can have a perfectly normal CAT scan and
21 still be retarded, isn't that true, Doctor?

22 A. Sure.

23 Q So, Your conclusion here in No. 2 under
24 subsequent course really doesn't tell us
25 whether there was oxygen deprivation or not, does

1 it?

2 MR. KALUR: Show an
3 objection.

4 A. A CAT scan can't tell you if there was oxygen
5 deprivation to an individual. That's not what
6 it's designed to do.

7 A CAT scan can show you whether there is
8 a physical structural abnormality of the brain
9 or not. That's all it tells you.

10 Q. I understand your opinion is that the cause of
11 the child's mental retardation and cerebral
12 palsy is not due to any oxygen deprivation.
13 I understand that's your opinion.

14 A. Yes.

15 Q. What would you expect to see on the CAT scan,
16 Doctor, if that were, in fact, the case?

17 A. As I understand the question, if a child had
18 mental retardation and/or cerebral palsy from
19 anoxia intrapartum, is that the question?

20 Q. Yes.

21 A. I just don't want to give you a misleading
22 answer.

23 Q. Yes, that's the question.

24 A. If you have sufficient damage from anoxia to
25 cause retardation, you must have generalized

1 atrophy of the gray matter or at least profound
2 atrophy of most of the gray matter, not a little
3 here or a little there, but the whole gray
4 matter basically being significantly atrophic.

5 Q. So, you would expect the CT scan to say what
6 then?

7 A. The CT scan would show generalized atrophy of
8 the gray matter.

9 Q. Is that true in every case?

10 A. Where --

11 Q. There is significant oxygen deprivation
12 sufficient to cause mental retardation.

13 A. Let me just say that where sufficient oxygen
14 deprivation occurred and was the cause of the
15 mental retardation, it will show that. I'm not
16 trying to be cagey but there are cases where
17 there's been severe oxygen deprivation and there
18 is mental retardation but that the oxygen depri-
19 vation didn't cause the mental retardation.
20 Other factors caused it. That took place too.

21 Q. Your final point under the subsequent
22 development of this child is that because there
23 was not significant motor abnormality, that
24 being no spasticity or athetosis, you felt this
25 supports your opinion that oxygen deprivation

1 did not cause this child's condition.

2 A Yes.

3 Q Dr. Jacobs, in his deposition, says that there
4 was spasticity, I believe, in his last
5 examination.

6 MR. KALUR: I'm going to
7 object to that. I don't think that's
8 accurate. I don't think that is
9 accurate. I'm going to object to that.

10 In fact, he said specifically he
11 didn't write it down in his last exam
12 and he went back, way back in his exams
13 and found something he thought might be
14 some spastic movement.

15 Q Whatever, all right? If I am wrong I apologize,
16 okay? I'm assuming he did find some spasticity.

17 A It wouldn't alter because the word some is a
18 wide word. But I've read Dr. Jacobs' notes and
19 specifically if you read them carefully he
20 says, "When I hold the child up there may be
21 a little alteration in tone," and he describes
22 the hypotonia. That's minimal tone difference.

23 I'm talking about real, honest to God,
24 anybody can see it spasticity. Mother, father,
25 you, me or anybody else. When you get hypoxic

1 brain damage causing severe retardation, you get
2 that and I'm sticking with that.

3 Q. Do you feel that this child has a significant
4 motor abnormality?

5 A. No. It has some, I think, from Dr. Jacobs'
6 description, but the word significant, again I'm
7 using as being an honest to God, major problem
8 in this. No, the answer is no.

9 Q. He can't really do anything at all for himself.

10 A. That doesn't mean that there is significant
11 motor problem in spasticity or cerebral palsy.
12 I mean look at just retarded children. There
13 are many retarded children who never ever walk
14 without spasticity, without gross hypotonia.
15 They are just so retarded that they never walk.
16 So, the degree of motor abnormality is often
17 a reflex just of the retardation as such.

18 Q. Does the fact that this baby needs braces to
19 walk around, you don't consider that
20 significant?

21 A. Absolutely not. Can I answer why?

22 Q. Sure, please.

23 A. The decision to use bracing or not in mild cases
24 is very controversial. There are some people
25 who are bracophiles and there are some who are

1 bracophobes, if I may use the term, and there is
2 a huge spectrum in between. I know the particular
3 people who prescribe the braces and they are very
4 hot on braces so that many times they are put on
5 in one hospital and we end up taking them off
6 and vice versa.

7 Q. You don't think this baby needs braces is what
8 you are telling me.

9 A. I don't know that he does or doesn't need
10 braces but most hypotonic children in mild cases
11 are often prescribed braces because it may aid
12 them in walking a little earlier.

13 I take the view often that nature may take
14 a little longer but it's better and a lot cheaper,
15 so I'm not sure that this is a cut and dried
16 case. I'm not going to say it isn't either.
17 I haven't examined him. It may turn out that
18 I would also recommend braces in this case.
19 But bracing per se is a very controversial issue
20 in these children.

21 Q. Let me check my notes, Doctor. Just let me be
22 sure I understand a couple of points here.

23 Are you telling me that it requires a
24 significant degree of hypoxia to cause mental
25 retardation?

1 A. Yes.

2 Q. Almost near death is what you are saying.

3 A. Yes.

4 Q. And that a child who is mildly retarded does not
5 mean that the child had mild asphyxia or anoxia.

6 A. That's correct.

7 Q. A child who is severely retarded, I guess it
8 would necessarily mean that the child had
9 significant deprivation of oxygen.

10 A. Yes. If that is the cause of the retardation,
11 yes.

12 Q. Is this your complete file over here, Doctor,
13 on this case, this and this?

14 A. No, this is not mine. This is Mr. Kalur's, and
15 this book.

16 Q. May I see that for a moment, please?

17 A. Sure.

18 Q. You saw the evaluation of Dr. Wilson in this
19 case, the psychologist.

20 A. Yes.

21 Q. Do you know Dr. Wilson?

22 A. Sure. She works here.

23 Q. I presume that she is a capable and qualified
24 psychologist.

25 A. I think she's very good.

1 Q Do you agree with the conclusions that she makes 61
2 in her report?
3 A I haven't looked at the conclusion for some
4 time. I agree that she verifies Dr. Jacobs'
5 diagnosis that the child is retarded. I think
6 that that's in there. I don't know if there is
7 anything else she put in and I don't remember.
8 Q Doctor, I have a few more questions. I'm
9 almost through over here.
10 Who is your malpractice insurance carrier?
11 MR. KALUR: Show an objection.
12 Q Who is it; do you know?
13 A At the present time?
14 Q Yes, sir.
15 A I think it is PIE.
16 Q The same group that insures the Defendant,
17 Dr. Hughes.
18 MR. KALUR: Same objection.
19 A I don't know who is defending Dr. Hughes. I
20 assume it is. I really don't pay attention to
21 that.
22 Q Doctor, I don't think I have anything else. I'd
23 like to thank you for your time here today.
24 A You're welcome.
25 Q Be kind enough, if you will, please, if you

1 change any of your opinions or if you come up
2 with some new opinions that I've asked you about,
3 be kind enough to let Mr. Kalur know prior to
4 the trial or arbitration so I might be able to
5 ask you about them. Would you do that?

6 A. I will do that.

7 - - -

8 CROSS-EXAMINATION

9 BY MR. SWITZER:

10 Q I have a couple questions here.

11 You've reviewed the labor room and
12 delivery notes from the hospital, Southwest
13 General Hospital.

14 A. Yes.

15 Q The fetal heart readings which were taken during
16 the period from 4:00 p.m. through 4:53 p.m.
17 which was approximately 15 minutes before the
18 birth. Did you notice any abnormal readings?

19 A. I haven't looked at those for a while but I
20 don't recall seeing anything abnormal.

21 MR. SWITZER: Thank you very
22 much, Doctor.

23 (Deposition concluded.)

24 - - -

1 STATE OF OHIO,)

) SS:

CERTIFICATE

2 COUNTY OF CUYAHOGA.)

3 I, Suzanne Vadnal, a Registered Professional
4 Reporter and Notary Public within and for the State of
5 Ohio, duly commissioned and qualified, do hereby certify
6 that the within named witness, SAMUEL J. HORWITZ, M.D.,
7 was by me first duly sworn to testify the truth, the
8 whole truth and nothing but the truth in the cause
9 aforesaid; that the testimony then given by him was by
10 me reduced to stenotypy in the presence of said witness,
11 afterwards transcribed upon a typewriter; and that the
12 foregoing is a true and correct transcript of the
13 testimony so given by him as aforesaid.

14 I do further certify that this deposition was
15 taken at the time and place in the foregoing caption
16 specified and was completed without adjournment.

17 I do further certify that I am not a relative,
18 counsel or attorney of either party, or otherwise
19 interested in the event of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand
21 and affixed my seal of office at Cleveland, Ohio, on
22 this 18th day of November, 1986.

23
24 Suzanne Vadnal
Suzanne Vadnal, RPR, Notary Public
In and for the State of Ohio

25 My commission expires October 4, 1988.