

1
2
3
4
5
6
7
8
9
E0
E1
12
14
15
16
17
18
19
20
21
22
23
24
25

IN THE COURT OF COMMON PLEAS

OF CUYAHOGA COWNTY, OHIO

LONNIE HURT, ET AL.,

Plaintiffs,

vs.

Case No.

THE MT. SINAI MEDICAL

198452

CENTER, ET AL.,

Defendants.

- -

Deposition of SAMUEL J. HORWITZ, M.D.,
a Witness herein, called by the Defendants for
examination under the statute, taken before me,
Julie Gentile, a Registered Professional
Reporter and Notary Public in and fur the State
of Ohio, by agreement of counsel, at Rainbow
Babies and Children's Hospital, 2074 Abington
Road, Cleveland, Ohio, on Friday, December 27,
1991, at 8:55 o'clock a.m.

- - - - -

COPY

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Nurenberg, Plevin, Heller &

4 McCarthy, by

5 MARSHALL ■ NURENBERG, ESQ.

6 HARLAN M. GORDON, ESQ.

7 JAMIE R ■ LEBOVITZ, ESQ.

8 1370 Ontario Street-First Floor

9 Cleveland, Ohio 44113

10 621-2300

11 On behalf of the Defendant The Mt. Sinai
12 Medical Center:

13 Reminger & Reminger Co., L.P.A., by

14 THOMAS R. KELLY, ESQ.

15 The 113 St. Clair Building

16 Cleveland, Ohio 44114

17 687-1311

1 APPEARANCES: (cont'd)

2 On behalf of the Defendants Dr. Samuels
3 and Dr. Demio and Emergency Practice
4 Associates:

5 Jacobson, Maynard, Tuschman &
6 Kalur, by
7 JEROME S. KALUR, ESQ.
8 North Coast Building
9 1001 Lakeside Avenue, Suite 1600
10 Cleveland, Ohio 44114-1192
11 736-8600

12 On behalf of the Defendant
13 Dr. Washington:

14 Jacobson, Maynard, Tuschman &
15 Kalur, by
16 JOHN S. POLITO, ESQ.
17 North Coast Building
18 1001 Lakeside Avenue, Suite 1600
19 Cleveland, Ohio 44114-1192
20 736-8600

21 ----
22
23
24
25

PG LN [Ngl]HURT-HORWITZ-12/27-JKG ---COMPUTER INDEX---

PG LN BY-M*

4	12	J. HORWITZ, M.D.	BY-MR. KALUR: Q.
93	9	J. HORWITZ, M.D.	BY-MR. POLITO: Q.
93	18	J. HORWITZ, M.D.	BY-MR. KALUR: Q.

PG LN MARK 'D

101 12 Exhibit 1 was mark'd for purposes of

PG LN AFTERNOON-SESSION

PG LN ---THIS INDEX IS RESEARCHED BY COMPUTER---

1 MR. KALUR: The record should
2 reflect that this deposition is being taken
3 under Rule 26 solely for purposes of discovery
4 and that it's being taken by agreement of
5 counsel as to time and place.

6 SAMUEL J. HORWITZ, M.D., of lawful age,
7 called for examination, as provided by the Ohio
8 Rules of Civil Procedure, being by me first
9 duly sworn, as hereinafter certified, deposed
10 and said as follows:

11 EXAMINATION OF SAMUEL J. HORWITZ, M.D.

12 BY-MR. KALUR:

13 Q. Would you just for the record state
14 your full name and your professional address,
15 sir?

16 A. My name is Samuel J. Horwitz, and
17 my address is University Hospitals of
18 Cleveland, 2074 Abington Road.

19 Q. Dr. Horwitz, I've been furnished a
20 document dated February 12, 1991, consisting of
21 some 16 pages, which is ultimately signed by
22 you on page 16. Is that your only writing
23 pertaining to this case and with respect to a
24 request to review matters by the plaintiffs?

25 A. This is the February 12, 1991 --

1 Q. Yes.

2 A. That is the only written report
3 that -- I've got some handwritten notes for my
4 own benefit, but nothing else that I've
5 secluded.

6 Q. Let me make it simple so we don't
7 have to ask several questions about drafting a
8 report. I've asked you to draft reports myself
9 before.

10 A. Right.

11 Q. This one takes a rather unusual
12 format, and I wonder if you could explain to me
13 how it came about that this format was used
14 instead of the traditional letter process.

15 A. I'm not sure I know the whole
16 mechanism in which this was done, but I was
17 asked by the attorneys to talk with me about
18 Lonnie Hurt as my patient, and I did so. And
19 then I had not at that time undertaken an
20 extensive review of his record, simply talked
21 about his medical condition, and then they sent
22 me this to complete and requested that I
23 complete this form. I did not draft this form.
24 I did not set it up. And I answered the
25 questions.

1 Q. Was it explained to you why an
2 authored report by you as is customary was not
3 needed?

4 A. It was not explained. I assumed
5 that it might follow the fact that I had stated
6 that I was the treating physician and in my own
7 mind was not an independent expert but was
8 actually the treating physician and was going
9 to take that kind of approach to it. Maybe
10 that's the reason this was done this way, but I
11 really didn't even question it. I've had these
12 sent before, so it didn't mean anything to me.

13 Q. Who first contacted you for
14 representing the plaintiffs in this case?

15 A. I can't remember exactly, but I
16 think it was Mr. Lebovitz. Mr. Lebovitz.

17 Q. Have you had any discussions with
18 Mr. Nurenberg before today about this case?

19 A. Yes.

20 Q. When did those occur?

21 A. Those occurred -- I can't tell you
22 the exact date, but probably within the last --
23 I'm guessing -- about nine months or so I've
24 seen him on two or three occasions that he's
25 requested.

1 Q. Concerning this case?

2 A. That's correct.

3 Q. And was Mr. Lebovitz present on
4 those occasions or are we dealing with separate
5 visits here?

6 A. I think some were joint and there
7 were some separate visits from him as well.

8 Q. Instead of my asking you a whole
9 bunch of separate questions, would you give me
10 the background as to how many visits there have
11 been as you can recall and what went on at
12 those visits, who was present?

13 A. I would say there have been
14 probably three or four visits with Mr.
15 Nurenberg and Mr. Gordon and Mr. Lebovitz and
16 Mr. Lebovitz and Mr. Gordon maybe singly or
17 together maybe two or three. Some of them were
18 very brief to show me something or bring
19 something, but together there were probably
20 three or four. I would say four at least.

21 Q. Now, your -- I'll use the term
22 report, although it's a question and answer
23 thing. We'll call it your report of
24 February 12, 1991. It refers only to medical
25 records. Have you been furnished any of the

1 depositions in this case?

2 A. No, I have not.

3 Q. Have you at any time requested any
4 of the depositions?

5 A. No, I have not.

6 Q. Have you been advised orally that
7 the depositions of the residents both in the ER
8 and in the pediatric department at Mt. Sinai
9 have been obtained?

10 A. I'm aware that depositions have
11 been obtained because of a statement in a
12 deposition in discussion and also in reports
13 from expert depositions are alluded to, but I
14 have never seen them or actually had any
15 knowledge of specific contents.

16 Q. Did you get any type of a report of
17 what Dr. Demio or Dr. Samuels had stated in
18 their depositions about the goings-on on the
19 14th and 15th?

20 A. No report whatsoever,

21 Q. What expert reports have you been
22 given?

23 A. I've been given a report from
24 Dr. Chalab, a report from Dr. Blaise Congeni.
25 There is one -- I've forgotten the gentleman's

1 name from University of Indiana. This morning
2 just before we started I was shown a report
3 from Dr. Mark Gibson,

4 Q. Those three?

5 A. I'm trying to think if there are
6 any others. I think those are the ones. I
7 don't recall any other reports. If there's
8 another one, I may have forgotten it.

9 Q. The plaintiffs have given us a
10 report from a Dr. Todd at Children's Hospital
11 in Denver. Have you seen that?

12 A. There is one from a Dr. Rigotti, a
13 rehabilitation person. That may have been the
14 one.

15 Q. That's not the rehab report.

16 A. No, I've not seen this report.

17 Q. There's another one from a Dr.
18 DeHart at West Penn Hospital in Pittsburgh.

19 A. I've not heard of him. I've not
20 seen that.

21 Q. Dr. Raff, R A F F, from University
22 of Louisville?

23 A. Never seen it. Don't know him.
24 There was one other -- somebody. I've
25 forgotten his name. Maybe Matthews.

1 Q Matthews is the rphae elllo

2 A. Yes, I saw that one.

3 Q Do you have this report from
4 Mr. Chalab that you'we seen?

5 A. Yes.

6 Q. May I see that, please?

7 A There is also -- I ran thro gh a
8 report from a -- appressed to a Mr Kalwr from
9 a Michael May.

10 Q. So you've seen Dr. May's report?

11 A Right I think those are all that
12 I have saw

13 Q. Do you have an opinion in this case
14 that there was a herniation of the brain stem?

15 A. I have an opinion that there was a
16 herniation of the brain, which involves the
17 brain stem, not specifically the brain stem.

18 Q. When did that herniation occur in
19 your opinion?

20 A. The herniation was -- I can't tell
21 the specific time when it started, but its
22 manifestations are observable when the pupil
23 failed to react. Fixed pupil on the right
24 side. That's the time when it was clinically
25 manifested.

1 Q. There are all kinds of observations
2 about the pupils and dilatation of the pupils
3 in this case. I wonder if you could look in
4 the medical records and tell me the first time
5 you feel that there were clinical
6 manifestations of brain herniation.

7 A. Yes. I just want to be specific
8 about looking at two areas. The earlier record
9 that I can find right now and that I have noted
10 is the physician note of April 15, 1990, 7:45
11 p.m. That's 19:45 hours and that is the note
12 that records the pupillary abnormality.

13 Q. I see in that note writing that
14 indicates eyes right pupil dilated. I can't
15 read what it says.

16 A. To six millimeters.

17 Q. To six millimeters. Left pupil
18 dilated to approximately five millimeters.

19 A, Yes, That's not able to be
20 reactive to light. That's correct.

21 Q. Of course what preceded what I read
22 was fontanel flat.

23 A. Yes.

24 Q. And then it says funduscopy. No
25 papilledema.

1 A. No papilledema.

2 Q. What in your opinion was causing
3 these clinical signs -- this clinical sign or
4 signs -- strike that.

5 Is there anything else in what
6 we've just read other than the dilatation of
7 the pupils that indicates to you that there
8 clinically was evidence of herniation as of
9 7:45 p.m. on 4/15?

10 A. Specifically of herniation that's
11 it at 7:45.

12 Q. Is there anything in the findings
13 that we've just gone through that indicates
14 that is a negative for an indication of
15 herniation starting with fontanel flat?

16 A. No.

17 Q. If, in fact, this clinical sign of
18 herniation of the pupils as exhibited in this
19 progress note did indicate a herniation, do you
20 hold an opinion as to anatomically what portion
21 of the brain was herniated?

22 A. Well, the portion of the brain that
23 would have to be herniating would be the
24 cerebral hemispheres pushing down and
25 compressing the brain structures down to either

1 compress the stem or compressing the third
2 nerve because right now all you can see is the
3 third nerve function involved. It can either
4 be the core going down or it could be the stem
5 or it could be the cerebral hemispheres
6 actually compressing the nerve itself.

7 Q. So one couldn't tell from this
8 whether it was, for example, an uncal
9 herniation or a tonsillar herniation?

10 A. You can't -- well, it's not
11 tonsillar herniation at this point. But uncal
12 herniation versus a central herniation -- it
13 would be difficult to tell, Uncal herniation
14 is usually pretty unilateral to start with and
15 sometimes they combine, but right now it's
16 difficult. Not enough there.

17 Q. What clinical signs after this
18 7:45 p.m. entry and up until the time of
19 transfer to UH indicate to you **or** do you find
20 supportive of your conclusion that there was a
21 herniation?

22 A. The subsequent findings. And I'll
23 give you -- we would have to go back to the
24 nurses' notes, The sign is what they called a
25 form of Cheyne-stokes breathing and then

1 qualified that. I'll try and find the time
2 when that was recorded.

3 Q. 2:25 a.m. there's an entry of
4 Cheyne-stokes breathing I see.

5 MR. GORDON: And 1:30.

6 MR. KALUR: Yes. Mr. Gordon is
7 right. 1:30 a.m. I see one.

8 A. Yes. And that is the 1:30 a.m.
9 respiration 48 with respiration similar to
10 Cheyne-stokes without apneic episode. That's
11 the one.

12 Q. That's another symptom that would
13 indicate to you herniation?

14 A. That is correct.

15 Q. So we have one at 7:45 p.m. related
16 to dilatation of both pupils and then at 1:30
17 a.m. Cheyne-stokes breathing reports begin.
18 Any other signs --

19 A. Well, it's not really
20 Cheyne-stokes, but something similar.

21 Q. What the nurse records as that
22 anyway.

23 A. Yes.

24 Q. Anything else in these records at
25 Mt. Sinai that indicates to you from a clinical

1 perspective that herniation was or had
2 occurred?

3 A. No. Those are the specific ones.

4 Q. Do you hold an opinion as to what
5 was the causative agent for herniation in this
6 case on the evening and early morning of the
7 14th and 15th of April, 1990?

8 A. The causative agent in the widest
9 sense is meningitis -- intercranial infection.

10 Q. What is the pathophysiology of that
11 process, if you can tell me?

12 A. I don't think the pathophysiology
13 is totally clear. There are a number of
14 factors that get involved. One is the
15 inflammatory process affecting blood vessels,
16 the inflammatory vessel affecting the
17 superficial layers of the cortex, disruption of
18 blood brain barrier, disruption of tight
19 junctions that increase permeability, possibly
20 release of "toxic substances" from bacteria or
21 from destroyed white cells. Those are all
22 facets that lead the brain to swell.

23 Possibly in addition there may be a
24 factor of increased production of, cerebral
25 spinal fluid further increasing pressure or

1 obstruction to flow and absorption of cerebral
2 spinal fluid increasing the intercranial
3 pressure, and then there is the presence of
4 extra cerebral fluid effusions which are
5 occupying space. In this case they appear to
6 be subdural effusions of fluid more than
7 likely.

8 Q. Subdural effusions?

9 A. Effusions, yes, all of them
10 occupying more space in the head. It's a
11 complicated mechanism, but I don't think
12 anybody really knows why you get all of the
13 swelling that you do except you get it.

14 Q. Would some of the inflammatory
15 response in your opinion be due also to a
16 reaction of the killing mechanism between the
17 antibiotics and the bacterial cells?

18 A. That's probably correct as one of
19 the mechanisms except you can see it without
20 that, and being specific about this case the
21 pupillary inequality at 7:45. At least it's
22 noted there. This had to have taken place in a
23 period prior to that to do this. It doesn't
24 usually happen in five seconds as far as we
25 know.

1 And antibiotics were standard
2 around about that time. We can look at the
3 exact time, but it's in the region of seven
4 something. So for the antibodies to absorb and
5 get there and do that it could be a factor, but
6 I think the process is already there before
7 that factor, but I can't exclude that the
8 body's antibodies are just sitting there.

9 Q. It could be a supplemental factor?

10 A. It could be. That is correct.

11 Q. For example, we might have the
12 pupillary response to an inflammatory process
13 going on and then as the antibiotics were
14 increased in frequency we could have other
15 manifestations of herniation develop?

16 A. Well, I'm going to be clear that
17 the pupillary response -- and maybe you could
18 just read it. Pupillary response is not the
19 response to inflammation. It's the response to
20 pressure.

21 Q. The pressure is from an
22 inflammatory response, isn't it?

23 A. That is correct, but it's not
24 inflammatory reaction in the pupil or its
25 nerve. That's why I'm trying to be very

1 specific.

2 Q. I didn't mean to imply that.

3 A. I know, but the way the question
4 came I wanted to be sure.

5 Q. Could we then have a supplemental
6 increase in intercranial pressure from the
7 killing process of the antibiotic and release
8 of endotoxins?

9 A. Yes. I don't know that's all
10 endotoxin, but release of substance.

11 Q. From the killing process?

12 A. Yes.

13 Q. When you're using the term
14 herniation, are you referring to the protrusion
15 of brain tissue through the bony area which is
16 at the base of the skull?

17 A. No. That's tonsillar herniation.

18 Q. Maybe you could tell me exactly
19 what you're referring to.

20 A. This is transtentorial herniation.

21 Q. And is this process of herniation
22 when it occurs a permanent one or does it
23 recede when the inflammatory process recedes?

24 A. Let me put it this way. It doesn't
25 usually -- if you don't treat it, it can't

1 recede because you die. Okay? So of itself
2 you're unlikely to survive long enough for it
3 to recede, but having been treated it certainly
4 can recede and will recede if you survive.

5 Q. The treatment is by the giving of
6 Mannitol or other antiinflammatory drugs?

7 A. Its treatment is administration of
8 Mannitol, other drugs if necessary, and the use
9 of hyperventilation.

10 Q. When in your opinion did this child
11 first require the administration of Mannitol
12 and hyperventilation?

13 A. When do I think it required it?

14 Q. Yes.

15 A. 7:45 p.m.

16 a. Do you hold an opinion that
17 standards of appropriate medical care -- we'll
18 put aside what happens before 7:45, but let's
19 assume that one had the information available
20 in the chart -- a reasonably prudent physician
21 had the information available in the chart as
22 of 7:45 and the information from seeing this
23 child that's made known to us here. Would
24 standards of prudent care require that Mannitol
25 be administered at that time and

1 hyperventilation be warranted to this child?

2 A. It is my opinion that at 7:05 when
3 the pupils were unreactive that the physician
4 or physicians taking care of the patient need
5 to make an immediate determination as to why
6 those pupils are unreactive and that is there
7 is -- I would see even a remote likelihood or a
8 reasonable likelihood that the pupillary
9 nonreactivity is due to rising intracranial
10 pressure and potential herniation, Mannitol
11 and/or hyperventilation or one of the other
12 measures should be given immediately --
13 immediately on an emergency basis. No if, but
14 or maybe.

15 Q. Does that mean in this circumstance
16 with what was known here it should have been
17 given?

18 A. In this circumstance it means that
19 it would be a minute or two at most to assess
20 whether this was the situation and then it
21 should have been given immediately, so I would
22 say 7:47 if this is recognized at 7:45. Two
23 minutes was enough. I'm being arbitrary with
24 two minutes, but what I'm trying to say is it
25 should have been given right away.

1 was given earlier than 5:45 a.m.?

2 A. I have reason to believe that the
3 note was written at 4:45.

4 MR. NURENBERG: 5:45.

5 Q. 5:45.

6 A. I'm sorry. Did I not say 5:45?

7 MR. NURENBERG: You said 4:45.

8 A. I have reason to believe no later
9 than 5:45 when the note was written, but
10 knowing the way this works in an intensive
11 situation it could have been given at 5:20 and
12 at 5:45 the nurse wrote it down, I will accept
13 it was 5:45 because that's the time it was
14 written, but I know the way this works. It was
15 not done later. That I know for sure.

16 Q. The Rainbow transport team arrived
17 at 1:55 a.m.

18 A. Yes.

19 Q. There was a little elevator traffic
20 then. The child was brought back off the
21 elevator. Nurse claims more Cheyne-stokes
22 breathing as she interpreted it. At 2:30
23 there's a recording of the child being given
24 Valium, intubation by the RB & C doctor,
25 bagging, and then at 2:45 the child left via

1 stretcher and presumably I guess we could
2 figure 15 minutes to get over here.

3 A. Longer than that by the time you
4 drive, park and get through the tunnels and get
5 up here.

6 Q. 3:15 the child is in the hospital.
7 Why did it take a couple hours over here?
8 Don't tell me Rainbow was below the standard of
9 care.

10 A. Are you asking should we have given
11 the Mannitol here?

12 Q. Yes.

13 A. Definitely.

14 Q. Does the Mannitol operate in these
15 types of situations in a relatively rapid
16 manner to reduce intercranial pressure?

17 A. It does.

18 Q. Is there a time which you would
19 consider to be significant to reduce
20 intercranial pressure in order to avoid
21 morbidity in cases of pneumococcal meningitis?

22 A. I'm not sure I understand the
23 question, Could you repeat it?

24 Q. Let me try to rephrase it in a
25 clearer form.

1 If I document -- what I'm getting
2 at is I take it you believe that increased
3 intercranial pressure from the inflammatory
4 reaction to pneumococcal meningitis requires
5 relief as rapidly as possible.

6 A. That's correct.

7 Q. And is there any type of a
8 correlation between how long the herniation
9 exists and the sequelae that will result?

10 A. Right. That's a very good question
11 and --

12 Q. It took me two times.

13 A. And earlier you did discuss
14 pneumococcal meningitis. I'm just going to say
15 this statement applies to pneumococcal
16 meningitis as well as to any other context of
17 raised intercranial pressure and a fixed pupil
18 and that is there is no experiment done in
19 humans or indeed in animals that I know of in
20 which you can set up a specific level of
21 herniation and then watch it for X period of
22 time and see what your outcome is. No one
23 could do that experiment, so we can't give you
24 high figures from literature that anybody can
25 say I sat on a herniation for an hour and guess

1 what. The mortality was twice as high. You
2 can't do that.

3 So what we have is clinical
4 observation and the writings of people who have
5 learned that herniation is a disastrous
6 situation and personal observations,. and those
7 are **if** you're going to get recovery, you must
8 treat very, very quickly. When you go beyond a
9 period that extends in an acute situation like
10 this, that extends beyond minutes, you're
11 running into a serious question whether you
12 have any recoverability.

13 From what **I've** seen in treating
14 cases my opinion would be that if you have
15 fixed pupils in a meningitis like this and you
16 go beyond 30 minutes, it's extremely doubtful
17 that you are going to get a favorable
18 recovery. **If** you go within several hours, the
19 chances are close to negligible that you're
20 going to get any meaningful recovery. You
21 can't say you'll throw in the sponge at 20 to
22 29 1/2 minutes. But once you go beyond minutes
23 and into hours,. it's nonmitigated in my
24 experience and most people feel that way. It
25 is a red **flag** -- a big red flag.

1 Q. You used one term. You say when
2 the pupils are fixed --

3 A. Yes.

4 Q. So we're not passing in the night
5 here, at 7:45 they're referring to pupils
6 dilated.

7 A. And fixed. Well, they're talking
8 about nonreactive to light. That's the same
9 term. And then later, just so we don't have to
10 go through this, there are questions that the
11 left pupil is reactive and it's not reactive,
12 but the right is fixed. And actually somewhere
13 in the nurses' notes I think they're
14 transposed, but that doesn't mean it's a fixed
15 pupil. That's the point. Nonreactive to
16 light. That's the observation.

17 Q. If the observation that the pupil
18 or pupils did not react to light at 7:45 is
19 taken with the right pupil dilated to six
20 millimeters and the left pupil dilated to five
21 millimeters, if I understand what you're
22 saying, within 30 minutes after that time it
23 would be extremely unlikely that you are not
24 going to have severe neurological damage?

25 A. Yes, in this situation.

1 Q. Do you hold an opinion as to
2 whether or not the lumbar puncture that was
3 done in this case was in a direct cause and
4 effect relationship to the herniation?

5 A. I have an opinion.

6 Q. What is that?

7 A. My opinion is, firstly, the lumbar
8 puncture should not have been done at that
9 time. Secondly, that the lumbar puncture
10 certainly at that time did not do the patient
11 any good. Thirdly, that I do not know how much
12 it contributed to further compromising the
13 patient. I don't know how much harm it
14 actually caused. It certainly didn't do good
15 and I wouldn't have done it, but I don't think
16 that it specifically harmed it. There's no way
17 to measure that.

18 Q. I think what you're telling me is
19 that you don't have an opinion as to whether
20 that precipitated the herniation or whether it
21 would have occurred anyway.

22 A. No, no. The herniation was there.
23 The herniation was there. I'm just saying I
24 don't know that it further aggravated an
25 already bad situation. I can't tell you. I

1 wouldn't have done it

2 Q. Would that be speculation to tell
3 if it aggravated an already bad situation or
4 not?

5 A. It would be speculation. On
6 general principals it shouldn't have been done
7 Whether it actually hastened things, I think
8 you can't tell. There's no way of quantifying
9 that.

10 Q. I think you've probably had a
11 chance to look at the linear structure results.

12 A. Yes.

13 Q. In this instance the protein and
14 CSF was listed as 293 and the glucose as 18.
15 What significance are those findings to you in
16 this situation?

17 A. Would I just check? Was it 18 or
18 13? I want to be sure.

19 Q. It looks like 18.

20 MR. POLITO: It's 18.

21 A. It's 18. Okay. That's fine.

22 Q. Can you tell me what significance
23 those findings are to you other than the
24 obvious indication of meningitis?

25 A. The protein elevation as such is

1 abnormal. It indicates that there is some
2 process that is resulting in increased
3 exudation of protein into the spinal fluid. Of
4 itself it's abnormal, but it doesn't tell me
5 what the abnormality is. The low sugar is
6 clearly abnormal and in the context of just the
7 sugar it could have any number of meanings
8 except it's abnormal.

9 Q. How about in the context of the
10 comparison of the protein level?

11 A. There's a pathologic process going
12 on around in the meninges. Of themselves those
13 two things do not -- I could give you a long
14 differential diagnosis. Given those two things
15 there are lots and lots of diseases that could
16 do them.

17 Q. Let me be more specific as to where
18 I'm going. The 18 would you agree from your
19 clinical experience with meningitis is a rather
20 low number?

21 A. You mean --

22 Q. If we take 100 children who have
23 meningitis -- bacterial meningitis -- and look
24 at their initial lumbar punctures, where would
25 18 fit in? Low? High? Medium?

1 A. I don't know any specific figures
2 of how many are at 18, but it's common enough
3 to say that that's, you know, everyday -- it's
4 everyday with meningitis. It can be lower than
5 that. It can be higher than that. Of itself
6 it's just -- it's helpful in making the
7 diagnosis. No more, no less.

8 Q. Any prognostic significance to
9 these type of values with meningitis?

10 A. There are people who have said that
11 if it is lower, it may be worth prognosis.
12 Others say if it is higher, it may be worth
13 prognosis. The fact is there are so many
14 variables in this particular equation that it
15 is a **very, very limited value.**

16 I don't know any of us here who
17 would go out to a parent or even to a colleague
18 and say because this is 18 this is what's going
19 to happen. I'm just going to **say** the kid **has**
20 got meningitis, and we do not use that in any
21 major interpretive way.

22 Q. Let's shift away from the glucose
23 and protein. Isn't -- maybe I'm not going that
24 far away from it. Isn't the degree of
25 leukocytic response in a CSF indicative of a

1 child's ability to ward off the disease on its
2 own?

3 **A. No.**

4 **Q.** In a child who is less than six
5 months old do they have less of an ability to
6 ward off meningial infection than a child of an
7 age greater than six months?

8 **A.** In general the ability to resist
9 infection is better after six months because of
10 a number of factors. In a general sense you
11 tend to do better, but **six** months is not some
12 magical figure. The much more magical figure
13 is the first six to eight weeks of life. I
14 **think** if I had meningitis, I'd rather have it
15 at a year than five and a half months. It's
16 not a big difference at that point. The big
17 difference is five and a half months and five
18 days.

19 **Q.** What about white blood cell count
20 from serum -- white blood cell count in
21 response to bacterium? If the white blood
22 cells don't rise to high levels but stay
23 normal, is that any reflection of immunological
24 response of that individual?

25 **A.** It may indicate either overwhelming

1 infection or it could indicate that the
2 individual doesn't have a good reactivity to
3 the infection.

4 Q. If it were overwhelming, wouldn't
5 you expect to see actually a low white blood
6 cell count from serum?

7 A. It may be low. It may not rise. I
8 think I would worry about the fact that it
9 doesn't go up. This infant isn't reacting very
10 well.

11 Q. I believe this child had -- I
12 believe the first time it got a CBC over at
13 Mt. Sinai it had, if my memory serves me right,
14 5,400 on white blood, which is in normal range,
15 and that's taken on the 15th.

16 A. Yes.

17 Q. Does that tell you anything with
18 respect to what you believe would have been the
19 white blood cell count if it had been taken on
20 the 14th?

21 A. That would be speculative. I
22 really don't know that at all.

23 Q. Making a diagnoses of otitis media
24 is not really part of your present job
25 description, is it?

1 A. Well, I don't know that anybody has
2 written a job description saying I can't
3 diagnose.

4 Q. I didn't say can't. You might be
5 pretty good at it, but that's not the thing you
6 usually do here, is it?

7 A. Yes, I do.

8 Q. You pick it up occasionally?

9 A. I see children everyday, and I see
10 they have ear infections all the time when they
11 come to my office because I'm seeing them for
12 seizures and mom says he's got a runny nose and
13 is tugging at his ear and he's running a low
14 grade fever, I'm not going to say I -- I look
15 at the ear and if it looks infected, I call the
16 pediatrician and tell him what's there and he
17 would follow it up. I do it quite frequently.
18 It probably happens several times a month. I
19 **look** at ears all the time, but I don't actively
20 give the antibiotics and follow up as if I'm an
21 everyday treating otitis doctor. I'm not.

22 Q. Since you have been given
23 depositions in this case to review, I'm going
24 to ask you to assume some facts as true so I
25 can fully explore your opinions in this case

1 and understand them when you mount the witness
2 stand.

3 I want you to assume that when
4 Lonnie Hurt first presented on the 14th to
5 Dr. Demio in the emergency room that he
6 examined the child's **ears** and found them to
7 exhibit a dull redness or at least the tympanic
8 membranes to exhibit a dull redness and that he
9 considered them to have very slight
10 abnormalities but not to be indicative of an
11 ongoing infectious process but rather to **be**
12 indicative of a **resolving** otitis media that had
13 undergone a full course of antibiotic therapy.

14 I want you to further **assume** that
15 that view was concurred by the attending --
16 strike that. By the resident who saw the child
17 that day.

18 A. Dr. Demio is not a resident? I
19 don't know **who** Dr. Demio is.

20 Q. Dr. Demio is the attending ER
21 physician, my client in this case.

22 A. Okay.

23 Q. And the next day Dr. Samuels was
24 the attending ER doctor and he examined the
25 child's ears and also concluded that this was

1 not an active ongoing otitis media but was also
2 the result of a resolving otitis media and that
3 Dr. Pemio on the first day included within his
4 differential -- didn't write it down -- that
5 this child had been subject to a viral syndrome
6 and that had caused the effusion in
7 temperature that had been noted but was not
8 noted at that level at the time the child was
9 in the ER on the 14th.

10 Just assuming those facts and that
11 those three physicians determined that this was
12 not based on their examination of the ears an
13 ongoing active otitis media situation, does
14 that information alter your opinion that there
15 was bilateral otitis media in this child?

16 MR. GORDON: Objection. Go ahead.

17 A. The written -- it's a difficult
18 question to answer right now.

19 Q. I try and give you difficult
20 questions.

21 A. I understand the written
22 description they have in the record as I had
23 read the description of the ears to me would
24 have indicated active otitis. Is they would
25 say that they really looked at it and it

1 doesn't look the way they wrote it, they were
2 there. I was not there. It doesn't correlate
3 in my opinion with what were the only written
4 notes I have on the child, which sounds to be
5 more in that context, However, I'm the first
6 to acknowledge that interpreting ears is
7 difficult in the best of hands. Given six good
8 pediatricians it's not always easy to see what
9 one calls dull redness as opposed to bright
10 redness in the ears, which is a common
11 infection. The ears are the hardest things to
12 look at, and I accept that. It is difficult to
13 interpret.

14 So it's a matter of judgment at
15 that point. Explanation of a viral infection
16 is in the differential diagnosis. It is never
17 in the record that that was considered from
18 what I can see. The diagnosis that I see is
19 Candida infection, and Candida will not cause
20 the patient's symptoms, his reported
21 temperature elevation at home, his marginal
22 temperature in the emergency room albeit after
23 a dose of Tylenol. So neither would -- well,
24 I'll just leave it at that.

25 Q. Let me focus on what's not in the

1 record but what is in the depositions.

2 First of all, even what's in the
3 record there is no diagnosis of otitis media on
4 the 14th; is that right?

5 A. That's correct.

6 Q. What we do have is an observation
7 in that record written by the resident and not
8 by Dr. Demio that he saw a redness in the ears
9 and that I believe he saw a less than clear
10 landmark, I believe, Are we in agreement
11 there?

12 A. That's what he said.

13 Q. Now, I want -- if, in fact, there
14 was then another examination by an attending --
15 an experienced attending physician who at that
16 point found a dull redness in the ears but
17 found no other significant abnormalities and
18 concluded from his examination and based on his
19 experience that this was a resolving otitis
20 media and he also had the history from the
21 mother of the course of antibiotics that had
22 been concluded -- if you assume those facts to
23 be true, do you hold an opinion that Dr. Demio
24 under those circumstances with a conclusion
25 that he had reached, although not written in

1 the chart, of viral syndrome was below the
2 standard of care in releasing that child that
3 night without antibiotic care?

4 MR. GORDON: Objection.

5 A. I don't have all those facts
6 written in front of me, but as I pointed out it
7 is a judgment of the physician who looks at the
8 ears. He saw them. I assume he didn't write
9 it down, but assuming he did do it and he saw
10 them he had a decision -- a judgmental decision
11 to make. And what I'm saying is the record
12 doesn't reflect his decision. It also doesn't
13 reflect an alternative diagnosis that shows us
14 that the child was sent out with a
15 consideration of what the actual diagnosis was
16 beyond thrush.

17 So he made that judgment. If
18 indeed he saw there was -- that this was
19 incorrect and the ears unequivocally in his
20 opinion were not infected, then he could
21 conclude two things. One, that it's not the
22 ears causing the fever -- the recorded fever.
23 And, two, I think he would have to conclude
24 that it's not the oral thrush causing the
25 fever. Then he would be left with a fever as

1 yet undetermined and you would have a
2 differential diagnosis of what that fever is
3 including viral infection, and he may decide
4 that that's the most likely depending on all
5 those findings. If that is indeed true, it
6 would not be unreasonable.

7 Q. Now, we're using the term fever
8 here and I'm --

9 A. Yes.

10 Q. Is that a term you usually use in
11 talking to your medical colleagues or just with
12 us poor lawyers here today illiterate
13 medically?

14 A. Their temperature elevation.

15 Q. Afebrile?

16 A. Febrile, afebrile, pyrexia if
17 you're in the British world and occasionally if
18 you're in the American world.

19 Q. We had a rectal temperature in this
20 child of 100.2.

21 A. It's .6.

22 Q. It might be .6.

23 A. 100.6.

24 Q. 100.6 in the ER on the 14th.

25 MR. GORDON: Triage nurses' notes.

1 Q. Is an elevated temperature in a
2 child who was this old having been born at
3 about 36 weeks gestation -- isn't an elevated
4 temperature in these children considered to be
5 102 rectally?

6 A. That is usually considered to be a
7 fever. That's close to what you're saying, and
8 as I pointed out this particular fever is in
9 the marginal range. Most babies, if you look
10 at them, are not shooting up to that level. If
11 they're up there, you look at the baby and
12 decide. You also have to take the factor into
13 account this is a moment in time to be very
14 specific. This is a moment in April. We don't
15 know how cold it was. You take the child
16 outside. Temperatures often drop. This baby
17 was given Tylenol.

18 So all you can say is the
19 temperature at the time recorded is certainly
20 not a rip-roaring temperature. I would agree
21 it is at the stage where you would note that it
22 is there and you wouldn't be horrendously
23 alarmed by that as a measurement, but the
24 history is much more significant.

25 Q. For example, in the literature that

1 I've read I've seen references to whether
2 antibiotics should be initiated on the
3 suspicion of a bacteremia in infants and I've
4 seen the figure of a fever over 102 and a white
5 blood cell count of 15,000. Do you subscribe
6 to that view?

7 A. I will tell you this. That I have
8 -- I subscribe to the view that every month I
9 ask what's new because this has changed so
10 often from my infectious disease colleagues. I
11 think everybody is having a hard time knowing
12 what the appropriate criteria is for treating
13 possible bacteremia and the changes. I do not
14 know what it was specifically in 1990 at that
15 time and even from place to place it has
16 varied, and I think although people set these
17 limits, it becomes a matter of judgment. Their
18 practices have changed and changed and changed
19 over the years with us.

20 Q. A few moments ago when I asked you
21 about the temperature of 100.6 you said that
22 under those circumstances when it's not
23 rip-roaring I think was the word you used, you
24 have to look at the baby and decide. When you
25 say look at the baby and decide, what do you

1 mean?

2 A. What I meant is you have to look at
3 the baby and that you have to take into context
4 the whole infant's clinical picture. One,, its
5 past history. Two,, the history of the
6 complaint, what the mother brought the baby in
7 for, the circumstances surrounding the arrival,
8 prearrival what's being done, what's being
9 treated and your examination of the baby. All
10 of those are factors in making a decision. And
11 obviously you have guidelines, but you can't
12 make specific rules for each of those things.
13 It depends on taking the global picture of what
14 you're going to do with that.

15 Q. Within that global picture of
16 looking at your examination of the baby is the
17 reaction of the baby as being alert and active
18 significant in that evaluation process?

19 A. Everything is significant.

20 Q. Does that have a particular
21 significance to a pediatrician to find an alert
22 and active child --

23 A. Absolutely.

24 Q. -- under these circumstances?

25 A. Absolutely.

1 Q. Would that be the type of
2 circumstance which would weigh in the process
3 of looking at the temperature of 100 s and
4 determining whether it is, in fact, related to
5 an ongoing process that requires antibiotic
6 care or not?

7 A. It would all weigh in the
8 alerts, again, taken in context with the
9 whole picture -- what you heard of the past,
10 what you're seeing now, the drugs that were
11 given -- it's not one factor, but obviously a
12 physician is going to be influenced whether a
13 child is alert, you're completely out of it or
14 nonalert. I mean those things are part of the
15 judgment call.

16 Q. I want to shift now to the next
17 day I'm going to go to the emergency room.
18 But I want to jump back again to our herniation
19 area because there's something I forgot about.

20 The child was supposed to have had
21 seizure like activity in the early morning --
22 actually on the 1st just before a transfer
23 was that also related to an ongoing herniation
24 process in your opinion?

25 A Seizures per se are not a symptom

1 of herniation, so the seizures are not caused
2 by the herniation process. That's not one of
3 the symptoms.

4 Q. Would inflammatory process as it
5 relates to the meninges stimulate a seizure in
6 some instances?

7 A. Absolutely. I mean 20 to 25
8 percent of infants with meningitis are going to
9 have seizures, but it's the same process
10 causing both. The infection leads to the
11 swelling in herniation. The infection leads to
12 the inflammation and seizures, But the
13 swelling and herniation does not cause the
14 seizures.

15 Q. I see what you're saying.

16 What about this process that the
17 mother describes at approximately, according to
18 her, 6:00 a.m. in the morning on the 15th of
19 the eyes rolling back in the head? Do you have
20 an opinion whether that was a seizure like
21 activity in this child?

22 A. I have an opinion.

23 Q. What is that?

24 A. My opinion is that it was a
25 seizure.

1 Q. Is that a so-called febrile seizure
2 or would it be related to the inflammatory
3 process for meningitis?

4 A. Febrile cannot be -- let me back
5 up. Febrile seizure by definition is a seizure
6 provoked by fever in the absence of
7 intercranial infection. Once you've got
8 infection in and around the brain, you'd be
9 smarter than Solomon if you figured out it was
10 the high fever and the infection of the brain
11 is causing the seizure, but nobody even thinks
12 about that because you assume it is the direct
13 meningitis effect causing it. The fever is
14 such -- is extremely unlikely. So as long as
15 it's not a febrile seizure to make it simple.

16 Q. Therefore, you conclude that
17 meningitis existed as of 6:00 a.m. on the 16th
18 in this child?

19 A. Yes.

20 Q. Do you hold an opinion as to what
21 was the earliest that the meninges were invaded
22 by the infectious process in this child?

23 A. I don't know how you can answer
24 that specifically. None of us can time that,
25 but you know it was there at 6:00 a.m. because

1 the child was seizing already and the ongoing
2 course is very typical. You can go back in
3 time, but I don't think you can be sure. I
4 mean I can't tell you it was there at 4:00 a.m.
5 I can't tell you it was there on the 14th when
6 they went to the emergency room. I can't tell
7 you it was not there on the 14th. There's just
8 no way of knowing the moment it's starting and
9 proliferating.

10 It could have been the 14th. It's
11 pure speculation to say it was there, and I'm
12 not going to do that.

13 Q. That would be speculation one way
14 or the other on the evening of the 14th whether
15 this child had meningitis?

16 A. It's pure speculation. I'm not
17 going to even hazard a guess because there's no
18 way I could know that.

19 Q. Is it fair to assume that by the
20 time meningitis reaches the stage where the
21 inflammatory action is going to lead -- can
22 lead to a seizure that it certainly has been --
23 there's been an invasion of the meninges that's
24 at least taken hours as opposed to minutes?

25 A. I don't know that, I really don't

1 know. It just can't be done to actually figure
2 that out, I can tell you some anecdotal
3 experience only to say we have seen children
4 come in with a sudden fever and have a
5 convulsion and we have tapped them and the
6 fluid looked clear and we have assumed it was a
7 febrile seizure and then to our horror 12 hours
8 later the spinal fluid is growing out bacteria
9 and we've treated the child for meningitis and
10 it was clear that at that point the child
11 actually had a seizure before you even saw
12 cells or low sugar.

13 So experientially we've actually
14 seen that kind of thing, but to ask you at what
15 moment it gets into the meninges to get the
16 seizure, you can't tell. There's just no way
17 you can ever know that.

18 Q. It leads me to another question.
19 You just gave us some anecdotal experience.
20 Have you had children -- infants. We'll stay
21 with apples and apples here.

22 Have you had infants who have come
23 in the first time with a report of a seizure at
24 home and you do a tap and you find meningitis
25 and you initiate promptly antibiotics who

1 despite that go on to suffer devastating
2 neurological injury?

3 A. I have had that circumstance,. yes.

4 Q. And you had, I take it, the
5 opposite, too, where you intervened with
6 antibiotics after the seizure was first
7 reported and the child went on to have a normal
8 sequelae.

9 A. Yes.

10 Q. The sequelae were normal?

11 A. That's the majority, yes.

12 Q. Why does it happen one way in one
13 case and -- strike that, Let me try to phrase
14 it in a more definitive manner.

15 What differentiates those children?
16 Why does some proceed onward, although they
17 both had a seizure before care was initiated,
18 one to do well and one not to do well-- an
19 infant?

20 A. There would be several factors that
21 could be involved. The first would be how long
22 prior to starting treatment the child was seen
23 and diagnosed. In other words, how many days
24 of illness prior to the seizure. So the actual
25 duration of infection is going to make a

1 difference.

2 The child may be at home for three
3 days before the first seizure. That's going to
4 make a difference with fever I'm talking about
5 -- an illness. It's going to be very different
6 with a child who went to bed around midnight,
7 started crying and had a seizure, went to the
8 hospital and got treatment. The time of
9 diagnosis and treatment is very, very
10 important. That's number one.

11 Number two, I think it depends on
12 the organism. They are more or less virulent
13 organisms.

14 And, three, it's going to depend on
15 the resistance of the host. If it's a healthy
16 child versus a chronically **sick** child or the
17 immunologic ability, that's going to make a
18 difference.

19 And, fourth, it is going to be
20 probably the volume of infection that enters
21 the spinal fluid where one gets massive
22 infection right away and the other one gets a
23 more gradual or less heavy load of infection.

24 And then there are individual
25 factors very hard to understand like given two

1 children with the same duration of fever and
 2 the same time of seizure and one swells like
 3 crazy and gives you all sort of problems and
 4 the other one looks like how could train, you
 5 know, a nonskilled person in using antibiotics
 6 and they leave the hospital in ten days like it
 7 was a trivial disease I really don't know why
 8 those two differences nobody as figured out.

9 We know that purpleHodge gets some
 10 swelling, but why one goes devastatingly into
 11 herniation and does it fast and who next one
 12 never even approach it, I can't tell you
 13 The factor of treatment is important, but it
 14 happens irrespective of that.

15 Q. With respect to Lonnie Hurt can we
 16 agree there was no scientific basis for
 17 determining when he first developed a
 18 bacteremia or meningitis?

19 A. We don't know when he developed his
 20 bacteremia or meningitis. There's no way of
 21 giving a specific time on that.

22 Q. Is there any way to determine his
 23 specific ability -- strike that.

24 Is there any way for you to give us
 25 your opinion with respect to the virulence of

1 the organism that he had?

2 A. I can give you opinions.
3 Pneumococcus is a lousy organism, but nothing
4 in the spinal fluid is a good organism. It's
5 all a relative thing. And it is a virulent
6 organism. It's a bad customer.

7 Q. What can you tell us specifically
8 about Lonnie Hurt's individual ability to
9 resist, in other words, his host's response to
10 infection as compared with other infants of his
11 age?

12 A. I don't know that I can give you
13 anything specific about that. I mean he
14 mounted reasonable normal responses. He put
15 cells into the spinal fluid. He showed the
16 elevation and protein that whatever the
17 mechanism is, he had that. He dropped his
18 sugar indicating the transport system was
19 there. He did not generate a good leukocyte
20 response in his blood, which might indicate
21 either he had a very high fulminating infection
22 or he didn't mount a good resistance. That's
23 as much as I can say about him as a host.

24 Q. What about the volume of the
25 inoculum of pneumococcal bacteria in his CSF?

1 Is there any way to compare that or to tell how
2 much he received?

3 A. Not specifically that I know.

4 Q. NOW, turning to the 15th again I'm
5 going to ask you to assume some things that are
6 not in the record but I believe will be in
7 evidence in this case and I want to see what
8 your opinions are in respect to that assuming
9 these to be accurate and true as supplemental
10 to the record. That Dr. Samuels, who is the
11 attending ER doctor on the 15th, first saw this
12 child somewhere between about 1:40 p.m. and
13 2:00 p.m. and that before he saw the child
14 Dr. Klein, who was the resident in the ER at
15 Mt. Sinai, had already seen the child and the
16 child had been seen by a pediatric resident in
17 a consult also before Dr. Samuels, the
18 attending, arrived on the scene and that Dr.
19 Samuels was advised by Dr. Klein, the ER
20 resident, that a decision had already been made
21 to admit the child to the hospital and to do a
22 workup in light of the child's presenting
23 symptoms and the history of the eyes rolling
24 back as given by the mother and that Dr.
25 Samuels as the attending and based on the known

1 protocol in those situations present that a
 2 lumbar puncture would be done on the pediatric
 3 floor within a very short time after transfer
 4 and admission and that antibiotics would also
 5 be started as soon as the IV could be started
 6 and that they were having trouble starting it
 7 in the emergency room and that, in fact, he
 8 learned the next day from Dr. Klein that the
 9 pediatric resident had, in fact, promised to do
 10 a lumbar puncture as soon as the child hit the
 11 floor just as Dr. Samuels had anticipated would
 12 be done.

13 Given that additional scenario of
 14 facts to be true and in light of what you have
 15 read in the record, do you hold an opinion in
 16 this case as to whether Dr. Samuels fell below
 17 the standard of care in now performing a lumbar
 18 puncture in the ER or starting antibiotics in
 19 the ER?

20 MR. GORDON: Just note an
 21 objection.

22 A. You see given me a complication chain
 23 of command, and I'll answer it very simply
 24 Given the child's presentation a lumbar
 25 puncture should have been done as early as was

1 possible and start antibiotics. The decision
2 of whether it's done in the emergency room or
3 the child is whisked to the floor and it's done
4 five minutes later is an internal arrangement.
5 I'm not going to say what I am used to, what
6 our hospital does, because it might be in a
7 given situation one place is more comfortable
8 than another in doing it.

9 Five minutes is not the issue of
10 life or death. Hours are unequivocally
11 unacceptable, so we are talking about do you do
12 it right away or you do it upstairs. Now, if
13 somebody told me today that we do it in the
14 emergency room but they're overloaded and can
15 we run the kid up to the ward and get moving,
16 I'd say **get** the fastest way to do it. I don't
17 care where you do it. Just do what you have to
18 do.

19 So the answer to your question is
20 the spinal tap should have been done at the
21 earliest possible opportunity and their
22 internal arrangement is mt. Sinai's internal
23 arrangement. It should have been done, period.

24 Q. So it wouldn't be unreasonable
25 under those circumstances for Dr. Samuels to

1 rely on the protocol that this would be done as
2 soon as possible up on the floor, would it?

3 MR. GORDON: Objection.

4 A. I don't know what he relies on and
5 what the circumstances are. I'm simply saying
6 get whatever arrangement they made to do the
7 spinal tap and it had to be done there and then
8 an antibiotic started if appropriate.

9 Q. I understand that. I certainly
10 don't think there will be any disagreement with
11 that among reasonable people. The point is
12 your report seems to imply based on reading the
13 medical records alone here that not doing the
14 lumbar puncture in the ER by Dr. Samuels was
15 below the standard of care, and what I'm
16 getting at is if we add these other things to
17 it, is that below the standard of care for Dr.
18 Samuels?

19 A. If you gave me the added things
20 that an arrangement be made to do it very, very
21 promptly -- and I'm being very, very specific.
22 I'm not taking three residents examining him
23 and two medical students and a nursing report.
24 That's not appropriate. But if he had been
25 whisked to the floor and done by arrangement, I

1 have no problem with that as long as we are very
2 specific that it's minutes of difference

3 Q. Your conclusion with respect
4 to reasonable~~ness~~ also apply to starting an IV
5 as soon as possible on the floor when they ran
6 into difficulty in the ER and wanted to get the
7 child up?

8 A. It is exactly the same thing.
9 Antibiotics should have been started at the
10 earliest opportunity. Whether it is three
11 minutes' difference is irrelevant to me. Just
12 get it done.

13 Q. The antibiotic that was actually
14 prescribed probably for the wrong reasons in
15 this case was Cefataxi~~m~~. Was that an
16 appropriate antibiotic to prescribe for
17 meningitis -- suspected meningitis in this case?

18 A. This was Cefataxi~~m~~.

19 Q. Yes.

20 A. I will only tell you that I do not
21 prescribe the antibiotics at the present time
22 and that you would have to look at what was
23 recommended in 1990 at that time and what the
24 resistance organisms were and decide whether it
25 was appropriate, and I'm not going to address

1 it. I really don't do it, and I'm not going to
2 address it.

3 Q. Do you have an opinion as to if, in
4 fact, the order had been written stat. and the
5 drug had been administered on a stat. basis,
6 let's say within 15 minutes after the order was
7 written when the child hit the floor, whether
8 we would have a different outcome in this case?

9 A. I have an opinion.

10 Q. What is that, sir?

11 A. My opinion is that the outcome to a
12 reasonable degree of probability would have
13 been better than it is now.

14 Q. Can you quantify that opinion to
15 the extent, for example -- and I'm not trying
16 to be facetious at all, but I'm trying to put
17 it in medical terms. Could Lonnie Hurt write
18 with a pencil, for example? Or obviously I
19 know that one, but can you quantify it in any
20 physical parameters of improvement over what he
21 has now that care has been initiated within a
22 half hour of the child reaching the floor?

23 A. No, I think it would be scientific
24 speculation to even begin to do that. I can
25 answer it to say that every single person who

1 treats meningitis or teaches on the team says
2 the earlier you treat it, the better the
3 outcome. And everybody recognizes that each
4 minute that ticks by becomes precious. Nobody
5 says you've got to go crazy in one minute, but
6 hours make the difference in most people's
7 opinion.

8 Again, I will not quantify because
9 there's no scientific evidence in which you can
10 ever do the study. So we just know from
11 clinical observation the longer it goes, the
12 worse the outcome is. The worse patients are
13 the ones that have had the longest delay before
14 treatment. That's a general sense. It's
15 intuitive. But percentages -- there's no way I
16 can answer that in a specific way.

17 Q. You seem to be saying to me that
18 the shorter delay between disease onset and
19 administration of antibiotics, the better the
20 neurologic outcome; is that right?

21 A. With a few exceptions, yes.

22 Q. But if one takes the whole spectrum
23 of neurologic normalcy down to the other side
24 of the coin of basically Lonnie Hurt's
25 condition as it exists today, you can't

1 quantify anywhere in a time line whether he
2 would have any specific defined improvement in
3 his condition by earlier care?

4 A. You can't define exactly what he
5 wouldn't have had as a complication because
6 things happen, but you can only find in a
7 general way that everybody will agree the
8 results of that are better. But to say one
9 walks versus nonwalk, you can't do that.

10 Q. And if I understand what you're
11 saying correctly, you don't hold an opinion
12 that even if he had received the drug that was
13 prescribed in a prompt manner after admission
14 that he would be normal today?

15 A. You can say that --

16 Q. I always hate that term normal.

17 A. If he had received the drug in --
18 I'm thinking of the word. Not rapid.

19 Q. Expeditious?

20 A. Expeditious manner and all other
21 management had been carried out appropriate to
22 his condition, then to a reasonable degree of
23 probability he would have been normal or
24 certainly more normal than he is today. But
25 you can never say that one would be guaranteed

1 normal with meningitis. I mean that's
2 foolhardy. We know **that's** not true. How could
3 you?

4 Q. But your conclusion is probably
5 that if -- I think he was admitted about 3:00
6 in the afternoon. Let's say by 3:30 he got his
7 first dosage of this drug Cefataxime.

8 MR. NURENBERG: I don't think so.

9 MR. KALUR: I said if he had.

10 MR. NURENBERG: I'm sorry.

11 A. Yes.

12 Q. That in all probability it would
13 have turned out with proper care to be normal;
14 is that right?

15 A. Yes.

16 Q. Now, that probability -- can you
17 quantify that down to a percentage basis?

18 A. I can qualify it down to a
19 percentage basis in a very general statement.
20 If you take infants who have meningitis with a
21 common organism like pneumococcus and **the**
22 diagnosis is made reasonably promptly and
23 treatment is instituted promptly and
24 complications are handled expeditiously, the
25 mortality rate is one probably no more than a

1 couple of percent, that the morbidity rate long
2 term is probably in the region of 12-15 percent
3 depending on the series; that in that morbidity
4 rate there is a spectrum from very mild
5 morbidity to profound morbidity, but that the
6 profound morbidity is more probable to occur in
7 infants who have had delay in diagnosis, delay
8 in therapy or the delay in management
9 complications.

10 Again, it is not absolute, but
11 those are the percentages. You're going to
12 take the adequately diagnosed and adequately
13 treated and at least 80 percent could be
14 expected to come out normal.

15 Q. Is that 80 percent figure based on
16 your own personal experience or a combination
17 with literature?

18 A. In combination with modern
19 literature our own experience. I'm being very
20 specific that we are excluding newborn
21 infants. I want to make that quite clear.
22 We're not talking about the first month of
23 life. That's a totally different problem.

24 Q. We're talking about a child of the
25 approximate age of Lonnie Hurt.

1 A. That's correct.

2 Q. And so I'm clear your conclusion of
3 probable normalcy in this case is based upon
4 statistical experience but is not related
5 specifically to Lonnie Hurt, is it?

6 A. It's related to two factors. One
7 is statistical experience and, secondly,
8 experience from myself and other colleagues
9 that children who tend to do very badly are,
10 again, late diagnosis, preexisting conditions,
11 untreated complications. But when a child
12 comes into the hospital and is still reactive
13 and has a normal or near normal neurologic exam
14 except for depression of the level of
15 consciousness, those figures stand up very high
16 that child is expected to do well. When the
17 child comes in already lousy, he's in a deep
18 coma and is static epilepticus and has been
19 going **for** days, you know that equation is going
20 to go to the right of a bad result. You can
21 predict that immediately. But most kids who
22 come in are like Lonnie.

23 Q. On the 15th?

24 A. Coming in on the 15th who would
25 have had one seizure who still may be

1 lethargic, but there is still some reactivity
2 of the child to stimulation. There is no
3 paralysis, overtly recognized pupils. Eighty
4 percent of those kids are going to walk out of
5 this hospital and will earn their living
6 attending colleges or be lawyers or doctors,
7 that's correct, and there is going to be some
8 that will die and some that will be damaged,
9 but those will be less likely to be the ones
10 who came in looking reasonable,

11 That's why we've come from 100
12 percent mortality in meningitis to this kind of
13 figure. And everybody emphasizes aggressive
14 and expeditious management so that you move
15 that curve off to a higher and higher
16 percentage of normalcy. It has changed
17 significantly over the years with better
18 management.

19 Q. But even if we take this group that
20 comes in with maybe only some lethargy on
21 arrival as opposed to being comatose and the
22 real advanced stages of the inflammatory
23 response, there is still about 20 percent of
24 those who are either going to die or have some
25 degree of permanent damage, correct?

1 A. Ones that came in looking
2 reasonably good?

3 Q. Yes.

4 A. No. I would disagree with that.
5 It's far too high.

6 Q. What would your figure there be?

7 A. The mortality figure of that group
8 should be incredibly low. Probably -- I'm
9 going to give you a generous 1 percent.

10 Q. And the morbidity?

11 A. And the morbidity is going to be --
12 Long-term morbidity probably around 10 percent
13 or less.

14 Q. So we've got about maybe at the
15 most 12 percent?

16 A. Of recognizable morbidity.

17 Q. Death or some type of morbidity?

18 A. Right.

19 Q. But when those children come in the
20 door, you have the 100 percent of all those
21 children, there's no way for you to tell which
22 8 or 9 go to one side of the room and which 10
23 go to the other side of either death or some
24 morbidity, is there?

25 A. No. We've excluded the one who

1 comes in looking totally --

2 Q. We're talking now about the group
3 that doesn't come in advanced stages of
4 disease.

5 A. Right. You can't look at them and
6 say this has been 15 hours, You're looking
7 good. I will tell you for sure you will be in
8 the 90 versus the 10. You can't because we
9 know some complications that are permanent
10 morbidity are irrespective of early diagnosis
11 and they account for a fair percentage of
12 those. It's just the luck of the draw and have
13 nothing to do with the way they are diagnosed
14 or managed.

15 Q. You talked earlier about the
16 Tylenol that had been given. Were you aware
17 that the Tylenol that had been given by the
18 mother was less than half of suggested dosage?

19 A. It was .4.

20 Q. Yes.

21 A. Well, according to -- I happened to
22 look up this point, and according to the PDR --
23 and I was looking at the recommendations of the
24 manufacturer. The dose for a four-month-old is
25 to .4 and four months and up it's to .8. And

1 this child is a couple months premature, You
2 can take it to any way you want to call it.

3 Q. Did you determine how long the
4 mother reported giving that Tylenol to the
5 child before the child came to the hospital on
6 the 14th?

7 A. I don't remember specifically. I
8 don't know.

9 Q. If the ER physicians were told a
10 time they believed would negate the influence
11 of Tylenol and reducing fever, would that be of
12 significance to you in evaluating their
13 response to the child's 100.6 fever?

14 MR. GORDON: Note my objection.

15 A. You would only modify it to the
16 extent of saying that given the whole picture
17 at this point they examined the kid and
18 assuming the Tylenol had not worn off, he
19 looked alert and he didn't have a major fever,
20 they would still have to make a decision on all
21 the other factors involved -- their findings,
22 the history when he was brought there, et
23 cetera.

24 Q. Had you been informed or have you
25 determined from your review of the records that

1 there was also an upper respiratory infection
2 in this family before this date?

3 A. Yes.

4 Q. Have you ever seen any of the
5 records of the aunt or the grandmother?

6 A. No, not at all.

7 Q. Can this type of infection that
8 Lonnie Hart had be spread by upper respiratory
9 infections?

10 A. Well, which type of infection are
11 you talking about?

12 Q. I'm talking about bacteremia first
13 and then meningitis pneumococcal.

14 A. You have to take the sequence in
15 which he got it. He has got pneumococcal
16 meningitis. The pneumococci got into his
17 spinal fluid from the bloodstream. To a
18 reasonable degree of probability they got into
19 the bloodstream from some source where he was
20 colonized. He may have picked up the
21 pneumococcus from somebody and spread it into
22 his bloodstream. He could have had a source in
23 him for some time that for one reason or
24 another it broke down and spread into the
25 bloodstream.

1 I don't think we can tell whether
2 he got it four days before from his grandmother
3 or didn't, but the pneumococcus got into the
4 spinal fluid from him. Where he got it and
5 colonized, you don't know.

6 Q. Don't these children who develop
7 pneumococcal bacteremia and meningitis often
8 have a history of URIs in the days preceding
9 the diagnosis of bacteremia or meningitis?

10 A. They often do because they can have
11 an upper respiratory infection, a secondary
12 infection with pneumococcus and then going
13 through. So that's a perfectly acceptable
14 scenario.

15 Q. Have you been advised in reaching
16 your opinions that the mother never told anyone
17 at the emergency room on the 14th that she had
18 relatives who were confined to Mt. Sinai with
19 what she termed at that time pneumonia?

20 MR. GORDON: Objection.

21 A. I do not have that information.

22 Q. Assuming that otitis media was, in
23 fact, something that should have been diagnosed
24 on the 14th -- the real signs and symptoms of
25 it were there and it could **have** or should have

1 been diagnosed at that time -- what would be
2 the care that should have been rendered at that
3 point with a suspicion of otitis media causing
4 the child's symptoms in your opinion?

5 A. If you think those are the
6 symptoms, you would proceed to give what you
7 think is an appropriate antibiotic based on the
8 history.

9 Q. Orally or IV?

10 A. It would depend. If the child is
11 able to keep down oral medication, then usually
12 you're not going to give an IV. If he's
13 vomiting profusely, you might have to, but
14 usually you're going to try to give it orally
15 and you'll pick an antibiotic that you think is
16 safe, usable and is not -- like let's say he
17 had been treated in the last week and it
18 recurred. You might not pick that same
19 antibiotic. You might go to something else.

20 Q. With a child with Amoxicillin would
21 you go back to Amoxicillin within a reasonable
22 standard of care within the circumstances?

23 A. I would tell you that right now
24 there are enough changes in recommendations for
25 epidemics. Bugs come up. If I were in that

1 situation, I'd say right now what I would do is
2 I'd call up one of the people who treat it
3 everyday. I would say, what are you doing now?
4 Because, as I mentioned to you, I don't often
5 prescribe it.

6 Q. Am I out of your area of what
7 you're competent in?

8 A. Yes. I will ask because I don't do
9 it often enough and they keep changing the
10 recommendations depending on what's being
11 resistant and what you've treated with before.
12 So I don't presume to be doing it everyday and
13 I call.

14 Q. Is there **any** way of **knowing** to a
15 reasonable probability that **had** oral
16 antibiotics been started at 11, 12 at night **six**
17 hours before the first seizure occurred that
18 meningitis would have been prevented in this
19 infant?

20 A. You can't say with absolute
21 certainty it would have.

22 Q. With reasonable likelihood can you
23 even say that?

24 A. You can't say that with likelihood
25 because you don't know when the meningitis

1 started. I was told you I can't tell you the
2 specific hour. I can only tell you in the
3 general sense if you treat and you get
4 bacteremia, you might prevent the meningitis,
5 but to say absolutely specifically you would
6 have, I don't think you can say that
7 categorically.

8 You don't even know the meningitis
9 wasn't there already. I was intimidated that
10 and the oral antibiotic would not cure the
11 meningitis. It would modify it, but it
12 wouldn't cure it.

13 Q. Or perhaps mask the symptoms for
14 awhile?

15 A. That's been said. It hasn't been a
16 major problem for most of us because half the
17 kids in the world get antibiotics and then the
18 next day they come up and people diagnose
19 meningitis. That's not so unusual. I think it
20 masks very little if you know what you're
21 looking at.

22 Q. Looking through your report now,
23 I'm on page 3 and you have your initials there
24 and then there's been a change with the word UN
25 written before the word common.

1 A. Yes.

2 Q. I take it this was typed up at the
3 Nurenberg office and sent back to you and you
4 made a change.

5 A. No. This was typed up at my office
6 and this was an error and put in.

7 Q. So the answers were typed here?

8 A. Yes.

9 Q. And when you proofread it, you
10 picked that up and just corrected it manually?

11 A. You know I'm trying to remember the
12 circumstances. I will tell you this was done
13 and I think I was going away or something and
14 either I proofread it or it was gone through
15 and sent back to me. I can't tell you that I
16 remember it specifically, but certainly the
17 statement of common is totally incorrect. I
18 would never make that statement.

19 Q. Dr. Horwitz, you conclude near the
20 end of your answers to these questions that
21 this child has a greater than 50 percent
22 probability of surviving into early adulthood
23 or beyond early adulthood. That was written by
24 somebody else in their question. You didn't
25 define your understanding of early adulthood.

1 would you explain what for mp?

2 A. Twenties.

3 Q. Early adulthood. My son is going
4 to be 21 I guess theoretically he's an adult.

5 A. He is.

6 MR. NURENBERG: He can vote at 18.

7 Q. That's early adulthood?

8 A. Yes.

9 Q. How far does that go? Twenty-five?
10 Thirty?

11 A. I'm just going to tell you I
12 implied the early twenties and I'll leave it at
13 that. I can't be more specific. I mean that
14 is what I would expect.

15 Q. You've unfortunately seen children
16 that are this devastated before from
17 meningitis?

18 A. Yes.

19 Q. It really doesn't matter what
20 they're devastated from, I suppose, as long as
21 they're this devastated as to their life
22 expectancy; is that correct?

23 A. That's correct.

24 Q. Will he have to be in your opinion
25 institutionalized during his life expectancy?

1 A. That is a decision that his family
2 will have to make depending on their resources,
3 their ability to handle the situation, so it is
4 not a medical decision.

5 Q. Well, I suppose we can make
6 hospitals like homes if there's enough money,
7 but --

8 A. I'm not sure that -- the Lonnie
9 are not unusual in terms of neurologic damage
10 who stay home and are managed and their family
11 supports them, and the support system we
12 provide -- I would not like to use the term
13 hospital. I think it's incorrect.

14 Q. Are you aware of any long-term
15 studies that have indicated that out of 100
16 children similarly damaged that 51 will be
17 alive at age 21?

18 A. The studies we all know come from
19 one or two from California and some old studies
20 and some of them institutionalized children.
21 It's a huge difference. Nobody can take an
22 exact Lonnie and say, we've got the perfect 100
23 Lonnie and we've studied them in East
24 Cleveland given the following setup.

25 Q. Well, they have studied children

1 with mental retardation and quadriplegia.

2 A. You have got such a diverse
3 population and you've got different social
4 circumstances. You've got different levels of
5 medical care going into them. There are enough
6 variables that you can take the group and
7 publish it. We agree with that. But even
8 there the experience is different from what we
9 have because this is Cleveland and it is
10 opposed to an institution, et cetera. And
11 we've seen the worse figures and the better
12 figures from the literature. We understand the
13 variables. We're basing them on our own
14 experience and the best estimate given the
15 present level of medical care and provisions
16 that we can come up with. We can't give you a
17 Lonnie Hurt treated in exactly the Cleveland
18 situation in 100 patients and what their
19 survival will be.

20 Q. I don't want to belabor the point,
21 but aren't you in fairness to some extent
22 guessing on this point?

23 A. Intelligent estimate.

24 Q. There's a thin line between that
25 and a guess, isn't there?

1 A. A guess, I think, is when you just
2 play the lottery. An intelligent estimate
3 means we take care of hundreds of children and
4 the circumstances and it's very individualized,
5 and that's why I'm saying it's not a guess.

6 Let's say, for instance, that you
7 were giving me the most neglectful mother in
8 the world whose sole purpose is getting out to
9 get her cocaine fix tonight.

10 Q. Short survival for the child?

11 A. It would be quite different. And
12 she had no access to health care and didn't
13 bother with it and didn't have individual
14 physicians like Dr. Mortimer and myself and the
15 people in the intensive care unit. So those
16 two circumstances would be so different, so
17 it's not quite a guess. That's why I said it's
18 an intelligent guess.

19 Q. So quality of care would be
20 expected to figure into life expectancy?

21 A. There's no question about it.

22 Q. But are there any figures available
23 with the best possible care how long these
24 children live?

25 A. No, I don't think you can come up

1 with a specific figure again because you say
2 these children. You are talking -- when you
3 talk about handicaps, it's apples and oranges.
4 There are so many.

5 Q. Certainly there's a severely
6 handicapped category that's recognized.

7 A. Severely. And it depends on tube
8 feeding. It depends how bad the seizure, the
9 drug interactions, I mean you're putting many,
10 many things in that the next one may not have,
11 so that's why I'm telling you I'm giving you a
12 general ballpark figure.

13 Q. The radiological studies in this
14 case at University Hospitals indicated
15 infarctions, didn't they?

16 A. Which ones are you talking about?
17 Yes. I have a copy here.

18 Q. There's an MRI impression and
19 report from 4/18.

20 A. Yes.

21 a. Approximately two days after he
22 came into this hospital. Before I ask you
23 about that impression I did notice in the chart
24 the child was changed to Penicillin after four
25 days. Do you know why that was done?

1 A. I don't know the specific reason,
2 but when they grow pneumococcus, it's usually
3 very sensitive to Penicillin and it is a
4 cheaper drug, effective and probably less side
5 effects than what they were using, so they went
6 to it. There's nothing unusual about that.

7 Q. Was there a difference in response
8 to the two antibiotics -- the one that was
9 given for the first four days and then to the
10 Penicillin in your opinion?

11 A. I would have to really review the
12 sensitivities and whether there was a specific
13 reason, but from my recollection it happened so
14 often to change over that unless there were
15 recommendations all over saying this is a
16 resistance bug and documented, it wouldn't ring
17 any bell with me other than it's common
18 procedure. More the rule than the exception.

19 Q. The impression on the MRI reads,
20 probably infarct involving the thalami
21 bilateral in the left internal capsule.

22 A. Yes.

23 Q. Do you have an opinion, first, as
24 to when that infarction of the thalami came
25 into existence?

1 A. I have an opinion.

2 Q. What is that, sir?

3 A. It is my opinion to a reasonable
4 degree of probability that those infarctions
5 occurred during the process of herniation and
6 vascular compromise.

7 Q. That would be then between
8 approximately 7:45 p.m. and --

9 MR. NURENBERG: 1:30.

10 Q. -- the next morning?

11 A. No. Closer to 7:30. Closer to
12 7:30.

13 Q. P.m. on the 15th?

14 A. Also to 7:45 on the 15th when the
15 pupillary actions became unreactive.

16 Q. Do you hold an opinion as to
17 whether the immediate IV administration of
18 antibiotic had it occurred at about 3:30 p.m.
19 without supplementation by hyperventilation and
20 Mannitol would have prevented the herniation?

21 A. That's a question you would have to
22 divide up and say the patient was given
23 antibiotics. Whether he would have them at
24 3:30, there would be no reason to give him
25 either Mannitol or hyperventilation. Neither

1 of those substances would be indicated and
2 should not be used,

3 Q. Let me back off the question and
4 say this. Had he gotten the antibiotics by
5 3:30 and they be continued at appropriate
6 dosage levels, would that have prevented the
7 increased -- would that have prevented the
8 inflammatory response that led to the
9 herniation at or around 7:30 p.m.?

10 A. Again, that's very difficult to
11 give a specific answer, I'm going to *go* back
12 to say that the earlier you give it, the better
13 results in a general sense. We know that
14 herniation was manifested at 7:45. We know the
15 antibiotics were just gotten in. I don't know
16 **how** we can be sure either way, but it's really
17 unanswerable in that sense. I still in the
18 general sense think you should give it, but I
19 don't see how you can answer that question.
20 You can't say the herniation wouldn't have
21 happened, but you can say if you give the
22 antibiotics, an inflammatory condition subsides
23 somewhat or is controlled, you're probably less
24 likely to *get* the excessive swelling and
25 herniation. Again, with the caveat that there

1 is still the problem that we don't know how
2 much product release from the antibiotics is a
3 factor and so all of those things weigh in.

4 But everybody who treats meningitis
5 without exception still ascribes to the fact
6 that the **major** complications are less common
7 when you treat expeditiously, so that is to be
8 the standard.

9 Q. The MRI also shows ischemic changes
10 throughout **the** white matter, Have you ever
11 seen **the** film itself?

12 A. Yes.

13 Q. You agree with that?

14 A. Yes.

15 Q. **Is** that due to the herniation and a
16 cutoff of blood flow?

17 A. Yes.

18 Q. Or a reduction of blood flow I
19 probably should say.

20 A. Yes.

21 Q. Are you telling us then in your
22 opinion that the die was cast for cellular
23 brain damage as of the time this herniation
24 purportedly occurred and that really -- I'll
25 leave it at that first.

1 A. The die was cast when the
2 herniation -- not the moment of herniation
3 becoming clinically manifest. The die was cast
4 after a reasonable time of minutes, not an hour
5 or two. It was not treated expeditiously. At
6 that point you get into the irreversibility of
7 the situation. But the die was not cast at
8 7:45 the minute the pupils dilated. That's the
9 time to intervene.

10 Q. Don't many children who have
11 pneumococcal meningitis as infants have
12 devastating neurological injuries without
13 herniation?

14 A. Absolutely.

15 Q. How can *you* tell us in this case
16 had herniation not occurred that these same
17 sequelae would not have occurred?

18 A. You have to look at the clinical
19 picture here, and that is in the absence of
20 herniation the children that suffer devastating
21 sequelae will occur under several
22 circumstances. One is if they have
23 overwhelming bacteremia and shock and they drop
24 the bottom out of their blood pressure.

25 Q. Hypertension?

1 A. Okay. The brain may simply be
2 destroyed on an ischemic mechanism. That was
3 not the case here.

4 The second reason they may undergo
5 devastating neurological outcome is if the
6 diagnosis and, therefore, treatment is delayed
7 for days. Then the pathology is very clear
8 that you get a vasculitis with vascular
9 occlusions, both venous and arterial. You get
10 multiple infarctions all over the brain, and
11 they're devastating because of multiple
12 infarcts. At that stage is a late stage. That
13 is not a first or second day problem. Multiple
14 infarctions with total devastation.

15 The third reason it might be
16 devastated is if they have seizures that are
17 uncontrollable, and uncontrolled for an
18 extended period the seizure may cause some
19 destructive changes.

20 Those are the mechanisms that some
21 come out devastated. I'll leave it at that.

22 Q. Did this child go on to have
23 multiple infarctions during the care at
24 University Hospitals?

25 A. This child had a massive

1 infarction, more than multiple infarctions.
2 This child had a probable infarct recognized at
3 6:00 a.m. on the 16th, which is telling you
4 that there was at least one recognized infarct
5 going back at least eight hours.

6 Q. That I understand. I understand
7 what you believe is related to the herniation.
8 But I'm asking later after the herniation was
9 relieved --

10 A. I think --

11 Q. -- were there infarctions then?

12 A. After the herniation was relieved
13 what you saw over the next few days was the
14 total -- this is not multiple infarction. This
15 is just total infarction of the whole brain,
16 and that is not a picture you see in a
17 meningitis of a day or two's duration without
18 herniation. You simply don't see it. You can
19 see an isolated infarct. You may get two
20 infarcts even on first or second day. I've
21 seen that. But total destruction is simply not
22 a first or second day problem. And this was
23 going on already. I mean clinically you know
24 it was going on.

25 Q. What about these bilateral cystic

1 hydromas? Were they a product of the
2 herniation also in your opinion or a later
3 process of the disease?

4 A. You know, they're given different
5 names here. Let's not get hung up on cystic
6 hydroma.

7 Q. I'm using the term you used. You
8 signed it.

9 A. I know, That's what the report
10 said dictated by a new intern, and I was glad
11 he did it for me. I'd have to review it. You
12 can call it a cystic hydroma. It's a fluid
13 collection, and the hydroma is rather a
14 specific term. It's a subdural -- it's a fluid
15 collection probably subdural, and I don't think
16 it is due to the herniation. I think it was
17 there prior to the herniation.

18 Q. You also -- you qualified this.
19 You said this was dictated by the resident, Dr.
20 Wenger, I guess.

21 A. Nicest guy in the world. Say
22 nothing bad about him.

23 Q. You read it before you signed it.
24 I take it you agree with what he's got in it or
25 *you* wouldn't have signed it. Or am I wrong?

1 A It was adequate for the purpose
2 that it was done. There was nothing in there
3 that is going to effect. If you tell me
4 everything is specifically accurate, probably
5 the lab values are some of these concepts
6 aren't, but that's --

7 Q. I'm not going to play that game of
8 trying to sin a factual error that way.

9 A. No. In fairness let me just say
10 that Dr Wenger dictated this as a floor
11 resident after the patient was discharged from
12 the intensive care unit and everything in the
13 sequence he sort of picked up by reading and so
14 on. Where are going to be inaccuracies.
15 Q. He has written here on 4/21, the
16 CAT scan revealed bilateral cystic hydromas
17 with acute hemorrhage within the right
18 hydroma.

19 A. Yes

20 Q Did you agree with that
21 interpretation whatever we call it a subdural or
22 a hydroma on the CAT scan on 4/21?

23 A. Those are the reports I have no
24 reason to dispute them.

25 Q. Then it goes on to say, it, being

1 the CAT scan of 4/21, also revealed acute
2 infarcts in the right middle cerebral artery
3 distribution.

4 A. I would have to take each CAT scan
5 and look and ask you what the specific
6 questions were.

7 MR. LEBOVITZ: Do you want to see
8 the CAT scan report?

9 MR. KALUR: We can look at the
10 interpretation. I don't think we have the
11 films here.

12 MR. LEBOVITZ: We do.

13 MR. KALUR: Good.

14 A. Which one are you referring to?

15 Q. This appears to be the first error
16 because it looks like a 4/20 scan.

17 A. Well --

18 Q. But it won't matter.

19 A. There is a 4/20 scan.

20 Q. If we've got it here. Well, it
21 says, acute infarcts to the right middle
22 cerebral artery distribution. Do you agree
23 that they were acute?

24 MR. LEBOVITZ: I think you're on a
25 different page.

1 A. Which scan are you looking at?

2 Q. I'm back to the discharge summary
3 now.

4 A. Oh, I don't know what he's saying.
5 The acute --

6 Q. Yes, he says acute infarcts.

7 A. Oh, acute in the sense that they
8 are not two weeks old. Yes.

9 Q. You would attribute the term acute
10 in this case to relate back to the 15th?

11 A. Let me be clear. This is an
12 infarct seen on the first scan of the 16th, and
13 I think it was slide 11. I found it a hard
14 time to see it, I sort of convinced myself,
15 But **I've** been through it with Dr. Kaufman, and
16 that would indicate one recognizable infarct,

17 Now, we know that infarcts take
18 some hours to become visible on a CAT scan.
19 And then you see the progression through the
20 skin, but it's still acute. We're not talking
21 about some three weeks remote. That's the word
22 acute.

23 So all of it is evolving and it
24 shows more and more as time goes on, and that's
25 pretty typical. But the extent of it would

1 show on one of the scans. It shows diffuse
2 white matter, and what I'm trying to show is
3 total destruction of the white matter. There's
4 nothing left.

5 Q. In the discharge summary that Dr.
6 Wenger dictated and you signed over it
7 indicates that there was found to be in the CAT
8 scan of 4/21 -- apparently it's a 4/20 CAT
9 scan, but in any event, middle cerebral artery
10 infarctions.

11 A. Yes.

12 Q. We'll just leave off the word
13 whether it's acute or not at this point. To
14 what do you attribute those middle cerebral
15 artery infarctions?

16 A. All of that is attributable -- all
17 of that is attributable to the decreased flow
18 that occurs during herniation process when you
19 can't profuse and you get diffuse or multiple
20 scattering of the infarctions in that
21 situation. So this is all attributable to
22 that.

23 Q. How can you rule out vasculitis
24 between the 16th and the 20th as being
25 causative of that given that it takes a few

1 hours at least to show on a CAT that there's an
2 infarction? We're talking about four days.

3 A. You're telling me that vasculitis
4 occurred after the 16th and caused these
5 infarcts?

6 Q. How can we rule that out as a
7 possibility?

8 A. What if you look at the clinical
9 picture of the patient at that time?
10 Everything is consistent with gross brain
11 infarction. But if you tell me there were one
12 or two small additional infarcts, I think the
13 whole thing was grossly infarcted anyways. The
14 clinical picture was there. But did he have
15 one or two out of that middle cerebral artery?
16 I -- you're adding an extra thing after the
17 fact when you already know what happened before
18 the fact. I don't know why you would want to
19 add it after the fact.

20 Q. If you don't have herniation in
21 these cases, as you said earlier vasculitis,
22 you said, can cause infarctions, so maybe this
23 would have occurred in any event, and I want to
24 get your view on that.

25 A. This child had fixed pupils. There

1 is no infarct that causes fixed pupils in this
2 situation. There isn't. Also as I pointed out
3 to you infarctions occur with vascular
4 inflammation generally late in the course of
5 the disease untreated. Isolated infarcts are
6 known to occur either early or later in the
7 course despite adequate treatment. Massive
8 infarction to destroy the whole brain like this
9 does not occur in an early treated case with an
10 infarction. It simply doesn't happen.

11 But if you're asking would one
12 infarct have occurred because of vasculitis,
13 the answer is yes, but it would be a drop in
14 the bucket compared to what's happened to this
15 child's brain.

16 Q. I may be done. Just give me a
17 second here.

18 Do you have an opinion as to
19 whether hospitalization of this child was
20 required by appropriate medical standards even
21 if the diagnosis of otitis media was an obvious
22 one on the 14th given all the other --

23 A. On the 14th would I have
24 hospitalized the child?

25 Q. Yes.

1 A. No. No.

2 Q. Given the signs and symptoms that
3 existed at that time and, again, presuming that
4 otitis media was a proper diagnosis, was there
5 a reason to do a lumbar puncture at that time
6 in accordance with proper medical standards?

7 A. The doing of a lumbar puncure at
8 that time would have been an absolute judgment
9 call on the part of the emergency room
10 physician. If he had diagnosed otitis and
11 treated it and decided he had an adequate cause
12 and was satisfied and explained the history of
13 fussiness, fever and the child looked good, I
14 would say it would have been unnecessary to do
15 the lumbar puncture at that point.

16 If the mother really termed the kid
17 as extremely fussy and he had vomited and there
18 was a marked change in this child and he
19 thought that the history was ominous and not
20 explained by the otitis, his judgment might
21 have led him to a lumbar puncture.

22 I think we don't have those facts,
23 and given what I see I would have loved to have
24 the lumbar puncture because I would have known
25 at that point. But given the emergency room

1 you see a child vomiting and a little **sleepy**,
2 mother said had a fever and had some otitis, I
3 think unless the history told you more you
4 would probably not do the lumbar puncture.

5 MR. KALUR: Those are all the
6 questions I have, Dr. Horwitz.

7 MR. POLITO: I just have a few.

8 EXAMINATION OF SAMUEL J. HORWITZ, M.D.

9 BY-MR. POLITO:

10 Q. Doctor, you indicated you had **some**
11 handwritten notes. Could I **see** those?

12 A. Yes. I can't promise you can read
13 them.

14 MR. KALUR: Just one thing **before**
15 **you get** going and you're going to get into
16 **that**.

17 EXAMINATION OF SAMUEL J. HORWITZ, M.D.

18 BY-MR. KALUR:

19 Q. While you look at those notes, I
20 **wonder** if you could read for the court reporter
21 your consult note so we will know exactly what
22 **it** says and I don't have to guess at some of
23 the words.

24 A. Sure.

25 Q. I can probably read 90 **percent**, but

1 I'm afraid of that 10.

2 A. This is a consult note of April 16,
3 1990, that's headed peds neuro. The note is
4 written by Dr. Rahel Berrhene, R A H E L
5 B E R R H E N E, and I signed it. So it's her
6 writing actually, but I'll be glad to read it.

7 Q. You didn't write a consult note
8 then?

9 A. No. She presented the patient to
10 me.

11 Q. I didn't think that was your
12 writing.

13 A. No, that's not my writing.

14 Q. All right. Then I'll struggle with
15 it.

16 A. I'll be happy to read it for you.

17 Q. If you can. Some of it I couldn't
18 read, but maybe you can read it. Maybe you're
19 used to it.

20 A. Seven-month-old, former 32 week,
21 transferred from Mt. Sinai with bacterial
22 meningitis.

23 Presented with fever and
24 irritability of two days' duration followed by
25 seizure activity on the day of admission. He

1 received Cefataxime on admission to Mt. Sinai
2 and a spinal tap was performed a few hours
3 after admission. There's some symbol I don't
4 know. It could be consistent with or
5 something.

6 Q. I think that's blood culture. BC?

7 A. No. It's something see of clinical
8 deterioration because of -- it's probably what
9 it is, but, again, I don't know her
10 abbreviation.

11 Transported from Mt. Sinai
12 following endotracheal intubation for irregular
13 breathing pattern in the face of an isophoria.

14 Q. What does that mean?

15 A. Unequal pupils. (Right greater
16 than left.) With minimally reactive pupils and
17 obtundation.

18 Since admission to PICU he has had
19 two self-limited seizure activities which were
20 aborted with Valium. He also was loaded with
21 IV Phenobarb and Dilantin.

22 Exam today at 24 hours after
23 initiation of antibiotics revealed on
24 Phenobarb, Dilantin, Valium. No other
25 sedation. Temperature 30. Can't read it. The

1 copy could be 38. Pulse 184. Respiration
2 vent. (BP equals 141 over 72 with arrow to
3 100.)

4 Minimal spontaneous activity.
5 Occasionally draws up both legs less than arms.
6 I think that's an arrow down between legs and
7 arms.

8 Q. Yes.

9 A. No posturing. Withdraws from
10 noxious stimuli.

11 Question mark right eyelid to the
12 ptotic and somewhat swollen lid.

13 Q. Does that mean ptosis of the
14 eyelid? Is that what ptotic means?

15 A. Yes.

16 Q. Is that a reflection of pressure on
17 the third or fifth cranial nerve?

18 A. It could be dysfunction of the
19 third -- of the elevator of the third nerve.
20 But it also could be due to the swelling of the
21 lid. And she said it is swollen. Lid
22 swollen. Can't elevate it.

23 Q. Why would you still have this
24 finding 24 hours after the initiation of
25 antibiotics and at least 12 hours after the

1 giving of Mannitol and long after the giving of
2 hyperventilation to that child?

3 A. After you've compressed the nerve
4 -- third nerve outflow it may take -- if it's
5 transient compressed, it's been a few minutes
6 and you relieve the pressure, it can usually
7 come back. If it's been some hours, it may be
8 so compressed that it never ever comes back, is
9 destroyed, or it may come back partially or
10 very extended. So it's perfectly acceptable.

11 Do you want me to carry on?

12 Q. Yes.

13 A. Right pupil five millimeters left,
14 three millimeters right. Both nonreactive.
15 Disk flat right side. No spontaneous breathing
16 over the vent. and then DTRs as indicated. And
17 there's a little man figure to show that
18 they're three plus. Has peritoneal (increasing
19 tone on repeated pass of flexion and
20 extension.) Babinski plus bilaterally with
21 positive -- I don't know. **And** EG underlined,
22 Multiple epileptic form discharges. It's
23 either left or right. I can't read if it's an
24 L or T. Parietal temporal. There's some
25 numerals there. Oh, okay. Two hertz, one

1 hertz. THZ, 1HZ, and then an arrow which
2 changes to three hertz, a spike and slow wave
3 discharges sporadically on the right side.

4 CT underlined. Bilateral subdural
5 effusions. No mass effect? Mild enlargement
6 of ventricular spaces,

7 Assessment: Global depression
8 (paucity of spontaneous movement.) It's
9 abbreviated, It's MVT. (No spontaneous
10 breathing over the vent.) Concerning global
11 depression. It should really read global
12 depression concerning and then she's got the
13 parentheses between the two statements.
14 Continuous seizure activity on EG. Also
15 portends an unfavorable prognosis.

16 Bilateral ocular palsy with
17 depressed sensorium and loss of respiratory
18 drive may indicate a brain stem lesion,
19 vasculitis and thrombosis.

20 And then recommendation. Would
21 continue to monitor; respiratory support,
22 anticonvulsants, and a few dots. Needs repeat
23 EG tomorrow.

24 And then there's a line, which is
25 in my handwriting. Agree with above. Also MRI

1 when clinical condition permits. And then it's
2 signed by Rahel Berrhene and countersigned by
3 me.

4 Q. When you say agree with above, are
5 you agreeing with the recommendation or more
6 than that?

7 A. Agreeing with the general
8 assessment.

9 Q. What about -- first of all, this
10 was a resident who wrote this?

11 A. Dr. Berrhene was a third-year
12 resident who was actually the person who
13 transported the patient from Mt. Sinai. In
14 that month she was doing an elective with me
15 and, therefore, would see all of the
16 consultations ahead of me, present them and
17 then write it up. And I would pretty much --
18 she might write it up before I got there or she
19 might write it up after we've seen the patient
20 and then I would countersign that. It was what
21 we had talked about.

22 Q. Was she a neurology resident?

23 A. No. She's a third-year pediatric
24 resident.

25 Q. Her conclusion that bilateral

1 ocular palsy with depressed sensorium and loss
 2 of respiratory drive may indicate a brain stem
 3 lesion, vasculitis and thrombosis -- do you
 4 agree with that?

5 A Yes She showed howe qualified it.
 6 Whose we are implying is that the brain stem had
 7 been damaged leading to vascular occlusion.
 8 Thrombosis and vasculitis was used in the sense
 9 of sort of more than inflammatory If I had my
 10 way, I would have her write it a little more
 11 specifically to avoid confusion.

12 She and I talked about this for
 13 hours as we did with a medical student there
 14 that morning. I probably spent two and a half
 15 hours in there at least on this case both
 16 reviewing and teaching, so going through all
 17 this with a very new, fresh medical student.

18 Q. RJM?

19 A. Is Dr. Aronberg's daughter and,
 20 therefore, I had so few very nice and thorough
 21 with her or her daughter would be critical of
 22 me. Junior medical student and well written,
 23 And obviously a lot of it out of context with
 24 this case But that's part of the learning
 25 experience She's very good.

1 MR. KALUR: I'm sorry. Go ahead.
2 Thank you.

3 MR. POLITO: I'm going to have
4 these marked. There's no need for you to read
5 them, but if we could just have them masked.

6 MR. NURENBERG: We can have them
7 marked, but they're staying with the doctor.

8 MR. POLPTO: Then we'll want copies
9 of them.

10 - - - - -
11 (Thereupon, Deposition
12 Exhibit 1 was mark'd for purposes
13 of identification.)

14 - - - - -
15 Q. Doctor, handing *you* what has been
16 marked as Defendant's Exhibit 1, can you
17 identify what those are?

18 A. This?

19 Q. Yes.

20 A, Oh, this is a yellow note pad with
21 handwritten notes from me relating to the
22 medical record.

23 Q. And they consist of how many pages,
24 doctor?

25 A. Six.

1 Q. Mr. Kalur asked you if you had seen
2 deposition summaries of a few physicians. Wave
3 you ever seen a deposition summary of Dr.
4 Washington?

5 A. I have not seen a deposition
6 summary of any physician including Dr.
7 Washington.

8 Q. Do you know Dr. Washington?

9 A. Yes, I do.

10 Q. You indicated the pupillary changes
11 seen at 7:45 were due to brain pressure.

12 A. Yes.

13 Q. If it was not due to brain
14 pressure, doctor, what are other causes -- what
15 could this also be due to?

16 A. It could be due to somebody having
17 given the infant Atropine in the eyes or a very
18 large dose of an Atropine like drug
19 administered parenterally.

20 MR. KELLEY: Administered what?

21 THE WITNESS: Parenterally.

22 A. A unilateral dilatation transient
23 could occur after a severe seizure. Very rare,
24 but it happens. An inflammation of the third
25 nerve by the inflammatory process could do

1 that. It would be incredibly uncommon and I've
2 certainly never seen it to be bilateral and for
3 so many hours to go without showing on third
4 nerve manifestations. Maybe the first time,
5 but it wouldn't persist for hours. So there's
6 really not much else that could do this.

7 Q. Did the child's neurologic status
8 change from 7:45 up until the time he was
9 transferred to RB & C?

10 A. The neurologic status changed
11 because, one, the pupils became unreactive.
12 That was one change. The second is there was a
13 respiratory change, and that respiratory change
14 is a neurologic basis. That was the second
15 basis.

16 Q. That occurred about 1:30?

17 A. Yes.

18 Q. Any other changes?

19 A. As best I can extrapolate from the
20 records, this child was less responsive to the
21 mother later in the course of the evening **as**
22 opposed to on admission* Beyond that you can't
23 say because the admission records really do not
24 indicate a detailed neurological examination at
25 any time during the course. It's simply not in

1 the records, so you can't say what the change
2 was because it wasn't recorded before or after.

3 Q. You indicated you believe in this
4 case this child had a transtentorial
5 herniation.

6 A. Yes.

7 Q. Could you define that for me?

8 A. That means there is pressure from
9 above and the cerebrum pushes down and the
10 brain stem pushes through the tentorium jamming
11 up all the structures then.

12 Q. Was that noted on the initial CT?

13 A. No.

14 Q. Was it noted on the subsequent CT?

15 A. It was never noted on the CT.

16 Q. **Was** it noted on the MRI?

17 A. No.

18 Q. What is the basis then, doctor, of
19 your opinion that this child had a
20 transtentorial herniation?

21 A. The clinical picture of the child
22 that evolved is absolutely typical for
23 transtentorial herniation. It's the classic
24 picture described. It's accepted neurologic
25 syndrome. It's perfectly clear.

1 Q. What signs and symptoms would you
2 expect or what signs and symptoms did the child
3 have when he reached RB & C that would be
4 suggestive of transtentorial herniation?

5 A. The things I mentioned before. He
6 had the pupillary dilatation. He had a
7 diminished level of consciousness.

8 By the way, can I just make -- I
9 want to make a comment after that. Diminished
10 level of consciousness and he had respiratory
11 change. He also didn't have normal motor
12 activities. Actually he had withdrawal
13 activity which wasn't well specified.

14 Q. Was increased intercranial pressure
15 noted when he reached the floor at RB & C?

16 A. You can't note intercranial
17 pressure.

18 Q. Did the clinical exam done at the
19 time the child reached RB & C indicate the
20 child had increased intercranial pressure?

21 A. I don't know how to answer the
22 question because you can't tell raised
23 intercranial pressure just on one side. The
24 picture is of raised intercranial pressure.

25 Q. So when the child hit RB & C, in

1 your opinion it was suggestive of increased
2 intercranial pressure?

3 A. In my opinion it was suggested way
4 beyond increased intercranial pressure.

5 Q. And radiographically also
6 suggestive of increased intercranial pressure?

7 A. I've qualified already that the
8 radiographic appearance did not show the
9 herniation.

10 Q. At any point?

11 A. It did not show the herniation at
12 any point, that's correct.

13 MR. GORDON: I think he's saying it
14 went down by that time.

15 MR. NURENBERG: I think **so**.

16 Q. You said, doctor, at 7:45 you would
17 have treated the patient with Mannitol and you
18 used the term other drugs. What other drugs
19 are you speaking of?

20 A. Mannitol, Hydrocortisone steroids.
21 Hyperventilation is not a drug. And if the
22 child was the least bit agitated at that point,
23 I would have given some sedation to keep him
24 calm so as not to push up intercranial
25 pressure.

1 Q. I'm not sure you answered this, but
2 I just want to be clear.

3 Had these drugs been given at that
4 time can you quantitate the amount of damage
5 the child would have suffered?

6 A. I don't think I was asked that
7 question specifically had he been treated, I
8 will only tell you that to a reasonable degree
9 of probability that child would have been
10 normal or certainly infinitely less damaged
11 than he is now.

12 Q. At 7:45?

13 A. That's correct.

14 Q. And what's the basis of that
15 opinion?

16 A. The basis of that opinion is that
17 the sign of herniation at 7:45 -- the first
18 sign is your indicator it is happening. It's
19 the window of opportunity that is in all cases
20 of herniation the time where clinical
21 experience throughout the United States and the
22 world indicates if you're going to make a
23 difference, you get in, And all of us have had
24 the experience of turning around kids at that
25 point -- getting in early and coming out with

1 perfectly normal survivors. I mean I've
2 written a paper on that and have been one of
3 the people extensively quoted. This is a
4 standard of care that is now accepted. It is
5 the practice that we teach. And we know that
6 if you don't relieve it, you die, and we know
7 if you get in early -- that's why you do
8 pupillary checks, There's no other reason to
9 do them.

10 And it's part of the vital signs.
11 A blown pupil to a neurologist or neurosurgeon
12 is like a siren going off,.and **we** tell
13 everybody else to take care of it -- to act and
14 call immediately for help. I mean it's a
15 standard thing that I teach someone. I don't
16 know how many hundreds or thousands of times
17 I've taught this thing.

18 Q. And what's the window of
19 opportunity, doctor, in your opinion?

20 A. The window of opportunity is
21 minutes at the most favorable, hours with luck,
22 but, as I've pointed out, I've seen kids who in
23 precious minutes have gone by and it became
24 irreversible. It's a very short window.
25 Everybody agrees with that. It should be acted

1 on -- in fact, let me just qualify that and say
2 before our intensive care units were as
3 organized as they are today when we admitted
4 any meningitis, not only did we do the
5 pupillary checks I'm talking about at this
6 hospital, but the Mannitol was drawn up in a
7 syringe and strapped to the bedside next to the
8 intravenous because of the determination to get
9 in there so there's no running around looking
10 for the darn stuff and drawing it up. Minutes
11 are literally precious.

12 MR. POLITO: I have nothing else,
13 Doctor.

14 MR. KELLEY: My understanding is
15 Mr. Golwasser through previous communications
16 with you has preserved his right to reconvene
17 this deposition.

18 MR. NURENBERG: At his expense at a
19 time when we can rearrange the doctor because
20 he had to be out of town. We will ask Dr.
21 Horwitz to make himself available so long as
22 the same questions aren't gone over.

23 MR. KELLEY: And Gary will review
24 the transcript obviously before he makes that
25 decision.

1 MR. NURENBERG: So I guess that's
2 it then. I think I'd like to have the doctor
3 have the opportunity to read the deposition.

4 (Whereupon, the deposition was
5 adjourned at 11:20 o'clock a.m.)
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE

The State of Ohio,)

SS:

County of Cuyahoga.)

I, Julie Gentile, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, SAMUEL J. HORWITZ, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by the above-referenced witness was by me reduced to stenotypy in the presence of said witness; afterwards transcribed, and that the foregoing is a true and correct transcription of the testimony so given by the above-referenced witness.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified and was completed without adjournment.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I do further certify that I am not
a relative, counsel or attorney for either
party, or otherwise interested in the event of
this action.

IN WITNESS WHEREOF, I have hereunto
set my hand and affixed my seal of office at
Cleveland, Ohio, on this 9th day of
January, 1992.

Julie Gentile

Julie Gentile, Notary Public
within and for the State of Ohio

My commission expires September 21, 1995.