

1 State of Ohio, SS:

2 County of Cuyahoga.

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 Feyruz Saadeh, et al.,

7 Plaintiffs, Case No. 441490

8 vs. Judge Burt W. Griffin

9 MetroHealth Medical Center,
et al.,

10 Defendants.

11 - - -

12
13 THE DEPOSITION OF STEVEN M. HOUSER, M.D.

14 MONDAY, JANUARY 21, 2002

15 - - -

16 The deposition of STEVEN M. HOUSER, M.D., a
17 Defendant herein, called for examination by the
18 Plaintiffs, under the Ohio Rules of Civil Procedure,
19 taken before me, Michelle R. Hordinski, Registered

Merit

20 Reporter and Notary Public in and for the State of

Ohio,

21 pursuant to agreement, at MetroHealth Medical Center,
22 Cleveland, Ohio, commencing at 3:00 p.m., the day and
23 date above set forth.

24

25 - - -

1 APPEARANCES:

2

3 On behalf of the Plaintiffs:

4 FRANCIS E. SWEENEY, JR., ESQ.
323 Lakeside Avenue, N.W.
5 Suite 450
Warehouse District
6 Cleveland, Ohio 44113

7

8 On behalf of the Defendants:

9 DEIRDRE G. HENRY, ESQ.
Weston, Hurd, Fallon, Paisley & Howley
10 2500 Terminal Tower
Cleveland, Ohio 44113

11

12

13

14

15

16

17

18

19

- - -

20

21

22

23

24

25

1 STEVEN M. HOUSER, M.D.

2 a Defendant herein, called for examination by the
3 Plaintiffs, under the Rules, having been first duly
4 sworn, as hereinafter certified, deposed and said as
5 follows:

6 CROSS-EXAMINATION

7 BY MR. SWEENEY:

8 Q. Good afternoon, Doctor. My name is Francis
9 Sweeney. I'm sure your attorney has filled you
in on who I am and why I'm here.

11 A. Yes.

12 Q. I'll kind of dispense with -- have you ever
given a deposition before?

14 A. No.

15 Q. Never? This is your first time sitting down?

16 A. Correct.

17 Q. Being deposed?

18 A. Correct.

19 Q. All right, well then I guess I won't dispense
with those instructions.

21 I brought you here today to ask you some

Feyruz 22 questions regarding your care of my client,
based 23 Saadeh. I'm going to be asking you questions
that 24 on your treatment of him, surgical procedures
25 you performed, and any information that's in his

4

1 chart that you may have or have knowledge of.
2 If there's anything that you don't
understand 3 that I ask you, just tell me, and we'll clear it
up 4 for you.

5 A. All right.

6 Q. That's as simple as I can put that.

7 Please try to answer verbally so the court
8 reporter can take it down.

9 If there's anything that you -- if you need
to

10 take a minute, if there's a question you need to
11 confer with your attorney, just let me know, and
12 we'll take it from there.

13 A. Okay.

14 Q. I'll assume you understand the questions
otherwise,

15 and we'll just start with some basic information

16 from you and move on from there.
17 A. Okay.
18 Q. Your full name again?
19 A. Steven Michael Houser.
20 Q. What is your current address?
21 A. Home address or work address?
22 Q. Both.
23 MS. HENRY: Well, can we
just
24 do his work address on the record, and
I'll
25 give you his home address off the record
if

1 you need to?
2 That and the Social Security numbers
I
3 don't permit to go on the record, because
4 they are a matter of public record, all
5 right?
6 MR. SWEENEY: All right.
7 MS. HENRY: His
professional
8 address is here. He's employed here
9 MR. SWEENEY: Yes, I
understand
10 that.

11 BY MR. SWEENEY:
12 Q. What city do you live in?
13 MR. SWEENEY: Is that okay?
14 MS. HENRY: Yes.
15 A. Cleveland Heights.
16 Q. How long have you lived there, in that city?
17 A. Total, during residency, so it would be seven
and a
18 half years.
19 Q. Where did you live before that?
20 A. Columbus, Ohio.
21 Q. How long were you in Columbus?
22 A. Four years.
23 Q. Did you go to school down there?
24 A. Yes.
25 Q. Where did you go to school?

6

1 A. Ohio State.
2 Q. Is that undergrad?
3 A. For medical school.
4 Q. Then where did you go to Undergrad?
5 A. Notre Dame.
6 Q. So you were up in Michigan --
7 A. South Bend.

8 Q. Or Indiana, excuse me?
9 A. Correct.
10 Q. So you went to undergrad at Notre Dame, so that
11 would put you over there before -- where were
you
12 born and raised?
13 A. Born in Hartford, Connecticut and raised in
14 Strongsville, Ohio.
15 Q. As of what age?
16 A. Seven.
17 Q. What high school did you go to?
18 A. Strongsville High.
19 Q. What year did you graduate?
20 A. 1985.
21 (Thereupon, a discussion was had off the
record.)
22 BY MR. SWEENEY:
23 Q. You went to Ohio State Medical School, correct?
24 A. Correct.
25 Q. And what year did you graduate?

1 A. 1989.
2 Q. And went directly -- wait a second, where did
you
3 do your residency?

4 A. The Cleveland Clinic Foundation.

5 Q. From what period of time?

6 A. From 1989 until -- or no, no, sorry. I
graduated

7 medical school in 1993. So from 1993 until 1998
I

8 was in residency.

9 Q. Did you do a fellowship?

10 A. Yes.

11 Q. Where?

12 A. University of Chicago.

13 Q. That would be in otolaryngology?

14 A. In rhinology.

15 Q. Rhinology?

16 A. Rhinology, allergy.

17 Q. What years?

18 A. '98 to '99, the academic calendar.

19 Q. Then you were hired by MetroHealth Hospital?

20 A. Yes.

21 Q. And you were hired in what year?

22 A. 1999.

23 Q. How did you go about finding that position?

24 A. I sent my curriculum vitae to University
Hospitals

25 looking for an academic position. They have a

1 joint residency program, basically, with
2 MetroHealth, and that's how this job ended up
being
3 offered to me.
4 (Thereupon, a discussion was had off the
record.)
5 BY MR. SWEENEY:
6 Q. What states are you licensed in?
7 A. Currently Ohio.
8 Q. Have you ever been licensed in any other states?
9 A. Yes, in Illinois during my fellowship.
10 Q. Is there a process by which you keep that up
while
11 you're not working in the state, or do you let
it
12 lapse, or how does that work?
13 A. I allowed it to lapse, because I was not working
14 there and saw no need to continue paying fees to
15 keep my license up.
16 Q. And you've been licensed in Ohio since '99?
17 A. No, since probably '95 or six.
18 Actually, I may have it in my wallet, if
you'd
19 like, because I have a --
20 Q. Did your residency from '93 to '98 at The
Clinic,
21 so you were -- that makes sense, about '95?
22 A. You can --
23 Q. '96?
24 A. After you complete an internship, you can apply
for
25 your state medical license. This does not say
when

1 I obtained my license, just the expiration
2 (Indicating).

3 Q. Approximately, though?

4 A. Approximately '95 to '96, one of those years.

5 Q. And are you currently board certified?

6 A. Yes.

7 Q. In any disciplines?

8 A. Yes.

9 I'm currently board certified in
10 otolaryngology.

11 Q. When was that board certification?

12 A. Board certification was in '99, May 10th.

13 Q. Is that an oral or written?

14 A. Both.

15 Q. So you sought employment through MetroHealth/
16 University Hospitals in '99 in your specialty?

17 A. Yes.

18 I contacted University Hospitals, and they
19 directed me to MetroHealth.

20 Q. What did they tell you, they are looking for
21 people?

22 A. They told me that they had a potential position
23 that I could fill, yes.

it's

24 Q. I'm just going to refer to it as ENT, because
25 shorter than otolaryngology.

10

just

medicine?

of

1 A. Yes.

2 Q. In ENT?

3 A. Yes.

4 Q. That's what I'm referring to when I say that,
5 so we aren't confused.

6 Any other members of your family in

7 A. Yes.

8 Q. Who is in it, who and where?

9 A. My wife is a physician.

10 Q. Where is she?

11 A. She's currently working for Kaiser.

12 Q. As --

13 A. She works in the clinical decision unit in the
14 emergency department area of the Kaiser portion

15 the ER at the Cleveland Clinic.

16 Q. Is that the ER -- you mean off of 90th there in
17 Euclid, that Clinic ER, or is it --

18 A. It's on Carnegie and East 90th, perhaps.

Cleveland 19 Q. There's a Kaiser ER, and then there's a
20 Clinic ER?
21 A. They are in the same building.
other, 22 Q. Correct. They are like right next to each
23 and she works for the Kaiser portion?
24 A. Correct.
25 Q. As a --

11

1 A. She works in the clinical decision unit.
2 Q. What is that?
but 3 A. It doesn't necessarily fit any descriptor well,
4 she works -- she's a family physician.
title 5 Q. Okay. Sounds to me like that's some sort of
6 that has to do with insurance. Would that be --
7 A. Well, it's a 23 hour stay unit associated with
the 8 emergency department. That's where she works.
9 MS. HENRY: She sees
10 patients, right?
11 BY MR. SWEENEY:
12 Q. She sees patients?
13 A. Correct.

14 Q. That come in emergently?
15 A. Correct. Patients that come in through the ER
that
16 they feel potentially need a longer time to sort
17 out than the ER can provide are placed in the
CDU
18 until they can be either admitted --
19 Q. I got it.
20 A. -- or get sufficient testing to be discharged.
21 Q. Because of insurance issues?
22 MS. HENRY: Objection.
23 MR. SWEENEY: I'm sorry, you
24 can strike that.
25 A. The Cleveland Clinic utilizes it, as well.

12

1 Q. Okay,
2 A. They both use it.
3 (Thereupon, a discussion was had off the
record.)
4 BY MR. SWEENEY:
5 Q. Any other family members?
6 A. My mother was a nurse.
7 Q. Anybody else, brothers, sisters, that are in
8 medicine?
9 A. No, no.

10 Q. So you were born in Connecticut, moved here when
11 you were six?
12 A. Seven.
13 Q. Seven.
14 You lived in Strongsville, went there
through
15 high school, went to Notre Dame, went to Ohio
State
16 Med, fellowship back in Illinois --
17 MS. HENRY: No, Cleveland
18 Clinic.
19 A. Cleveland Clinic
20 Q. Fellowship in Cleveland Clinic?
21 MS. HENRY: Residency
22 Cleveland Clinic, fellowship --
23 Q. Fellowship up in Illinois?
24 A. Correct.
25 Q. And then to Metro?

13

1 A. Correct.
2 MS. HENRY: Employed by
3 Metro.
4 BY MR. SWEENEY:
5 Q. Have you been here ever since?
6 A. Yes.

7 Q. You've been employed at Metro?
8 A. Correct.
9 Q. Do you occupy any teaching positions?
10 A. Yes.
11 Q. Where?
12 A. I'm an assistant professor of otolaryngology at
13 Case Western Reserve University School of
14 Medicine.
15 Q. How many other teaching positions are over
there?
16 A. Excuse me?
17 Q. In ENT, are you the only one teaching?
18 A. Where?
19 Q. Over at Case.
20 A. No.
21 Q. So how many other ENT's do they have over there
22 teaching this discipline, this specialty?
23 A. Well, that's, I guess, difficult to answer in a
24 fashion.
25 There are how many full-time people?

14

1 Q. Yes.
2 A. Currently, there are five full-time faculty at
3 University Hospitals that are associated with

4 Case.

5 Q. Okay.

6 A. At MetroHealth, there are currently three full-
time
are
7 faculty that are associated with Case. There
8 multiple part-time people associated with Case.

9 Q. You get a paycheck. Does that come from
10 MetroHealth Medical Systems?

11 A. Correct.

12 Q. Do you also get paid through Case Western
13 Reserve?

14 A. No, I do not.

15 Q. Or University Hospital?

16 A. No, I do not.

17 Q. How does that position work, then?
18 You occupy a teaching position, and it's
19 unpaid?

20 A. Basically.

21 Q. What do you get out of it, then?

22 A. We get the enjoyment of working with residents
and
23 teaching them. It's satisfying.

24 Q. Any other monetary remuneration?

25 A. From Case Western?

1 Q. Yes.

2 A. No.

3 I mean, we also work with the medical
students,
4 you know, as well.

5 Q. Okay.

6 A. In other specialties other than otolaryngology. ,

7 Q. Do you have any other specialties?

8 A. None that I'm board certified in.

9 Q. Any that you're practicing or interested in?

10 A. I do allergy as my fellowship, was in allergy,
11 which is considered within the realm of
12 otolaryngology.

13 Q. Would that be ID, as well, or --

14 A. ID?

15 MS. HENRY: Infectious
16 disease.

17 BY MR SWEENEY:

18 Q. Would it be infectious disease, as well?

19 A. No.

20 Q. I didn't know if it falls under that or not.

21 A. No.

22 Q. Anything else?

23 A. No.

24 Q. When you were hired by Metro Hospital, what is
your
25 understanding of the position you would occupy
and

1 your duties?

2 A. I would be a staff physician providing general
3 otolaryngology care, but specifically providing
4 coverage of rhinology and allergy.

5 Q. Is that what they requested of you, or is that
6 what you told them that you would be
specializing
7 in?

8 A. This is what they were looking for.

9 They had an active allergy practice without
a
10 physician to cover it, and they wanted someone
11 interested in treating the nose.

12 Q. What textbooks do you use in your teaching?
13 What do you rely on?

14 A. Which textbooks do I rely on?

15 Q. Or use.

16 A. For what purpose?

17 Q. Well, you teach students in otolaryngology,
18 right?

19 A. Yes.

20 Q. You must reference from a textbook, I assume?

21 A. There are a great number of textbooks in
22 otolaryngology that cover the broad basis of
23 otolaryngology, and --

24 Q. Those would be --

1 his answer. Go ahead.

2 A. None of them are considered the absolute
standard.

3 They are all useful.

4 ENT is such a rapidly developing field that,
in

5 a lot of ways, you really require medical

6 literature rather than textbooks, because they

7 typically, by the time they get out in print,
they

8 are out of date.

9 Q. Why is it advancing rapidly?

10 A. Well, specifically the area that I work in in
the

11 nose is advancing rapidly. Perhaps some areas
are

12 not advancing as rapidly as others.

13 Q. Is there any three or four textbooks in ENT that
idea

14 are most useful to you, just to give me some

15 of where these students are learning from or
what

16 you use?

17 I'm just talking about basic ENT here.

18 A. Useful for general ENT, not specifically sinus?

19 Q. Exactly.
20 A. Sinus work?
21 Q. Exactly.
22 A. There are multiple texts that are useful. I
23 wouldn't say, though, that any is standard.
24 Q. Well, what are some that you respect?
25 A. I can give you some names. I can't say that I

18

that's 1 respect. I can just tell you some names, if
2 what you would like.
3 Q. Well, ones --
4 A. I can give you a list of --
5 Q. Go ahead, then, shoot.
6 A. A list of textbooks.
7 Bailey's Textbook of Otolaryngology is a
8 textbook which frequently will be used.
Cumming's 9 is frequently used.
10 Q. Okay.
11 A. There's English Guide to Otolaryngology.
12 Q. Okay.
13 A. Those are three very general textbooks.
14 Q. What about texts more specific to the sinus?
15 A. That's where specifically textbooks fall off and

16 are not -- they are not really present or very
17 useful, because, again, it's such a rapidly
18 developing field.

19 Q. Sure.

20 But as a student, you have to get your basic
21 information somewhere, so --

22 A. As a student, you get your basic information
from
23 your residency training as well as from
reviewing
24 articles.

25 Q. Okay.

19

1 A. Specifically when we're discussing sinus.

2 Q. Endoscopic sinus surgery by Levine & May, is
that a
3 text that you've seen before?

4 A. I have looked at it before.

5 Q. Are there any other sinus textbooks that you
would
6 consider to be helpful?

7 A. Again, I would say that the medical literature
as
8 well as the training that I had at the Cleveland
9 Clinic are most useful to me. So I would have
to

10 answer --

but 11 Q. I understand those are the most useful things,

12 the basic information is put down in general

persons. 13 textbooks for use by students and by lay

I'm 14 I'm just trying to get an idea of -- let's say

attorney, 15 a first year med student, okay, or I'm an

with 16 and I'm trying to figure out what's going on

take a 17 the sinus. What's a good textbook for me to

18 look at just to get a start?

19 A. There's a textbook by Bhatt, Endoscopic Sinus

20 Surgery, New Horizons.

21 Q. Do you have any idea how to spell that?

22 A. B-H-A-T-T is the last name.

23 Q. Okay, any others?

24 A. That's all that I can think of as one that

25 potentially might be helpful.

20

field 1 Q. You stated that it's such a rapidly expanding

2 that you rely on journal articles, is that

3 correct?

As

4 A. Correct.

5 Q. What journals do you rely on or do you read
6 regularly?

7 A. Regularly, American Journal of Rhinology, The
8 Journal, Otolaryngology: Head and Neck Surgery.

9 well I will review the allergy literature
10 periodically.

11 Q. Is that a journal?

12 A. No, no.

13 Q. Okay.

14 A. I look at various allergy journals, as well.

15 Q. Are there any other periodicals that you receive
16 more frequently or that aren't organized into
17 journals such as these?

18 A. ENT Journal.

19 Q. How often does that come out?

20 A. That's monthly.

21 Q. Are there any sites online that you rely on?

22 A. No.

23 Q. As reference?

24 A. No.

25 I purview briefly other ENT journals, as
well.

1 Q. That would include --
2 A. Laryngoscope would be an additional one.
3 Q. Okay.
4 A. That would probably be it. That would probably
be a
5 fairly reasonable list.
6 Q. Doctor, what body would you consider to be on
the
7 cutting edge of ear nose and throat medicine,
8 including surgery, sinus surgery?
9 A. Which body?
10 Q. Is there an American Board of Functional
Endoscopic
11 Sinus Surgery, for example?
12 A. There's an American Academy of Otolaryngology -
13 Head and Neck Surgery.
14 Q. Does this academy disseminate or issue
information
15 regarding the latest in this field?
16 A. The journal that I had mentioned, the
17 Otolaryngology: Head and Neck Surgery journal,
is
18 the official publication of that organization.
19 Q. Okay. So that's the place to go, then.
20 MS. HENRY: For what?
21 BY MR. SWEENEY:
22 Q. When you want to know what's going on in head
and
23 neck surgery?
24 A. It's a decent journal.
25 Q. One of the best?

1 A. It's a decent journal.

2 Q. Is there one better than it?

3 A. It's a decent journal.

4 Q. So then there are none better than that or equal
5 to?

6 A. I can restate my response if you'd like.

7 Q. Are there any equal?

8 A. It's a decent journal.

9 Q. Okay, Doc, this is the first time you've given a
10 deposition, correct?

11 A. Correct.

12 Q. So I assume you've never been a Defendant in a
13 medical malpractice lawsuit before?

14 A. No.

15 Q. So you have?

16 A. Correct.

17 Q. When?

18 A. During residency.

19 MS. HENRY: I'm going to
20 object but go ahead and let him answer.

21 BY MR. SWEENEY:

22 Q. That would be at The Clinic?

23 A. Correct.

24 Q. What year?

25 A. That would be '94.

1 Q. What year resident were you?

2 A. An intern.

3 Q. You were an intern?

4 THE WITNESS: Should I not
5 be --

6 MS. HENRY: No, you can.

7 MR. SWEENEY: She's made an
8 objection.

9 MS. HENRY: I'm objecting

for

10 the record, but I'm going to let you go
11 ahead and answer so we can investigate
12 whether it has any relationship to the
13 issues in this case.

14 THE WITNESS: Okay.

15 BY MR. SWEENEY:

16 Q. You were an intern at the time?

17 A. I can give you the story if you'd like.

18 Q. Sure, just real briefly.

19 A. I was the intern recording the operative note,

so

20 my name was named to the suit and eventually
21 dropped.

put 22 A woman wearing pantyhose had her Bovie pad
23 over the pantyhose by nurses, and a nurse in the
24 pre-op area did not have her take off her
her 25 pantyhose. The Bovie pad resulted in a burn to

24

had 1 thigh, and the Cleveland Clinic settled out of
2 court. And my name was dropped from it, and I
3 nothing to do with --
4 Q. How serious was the burn?
5 A. It was a dime-sized burn.
6 Q. You were named as a Defendant, and then you were
7 later taken off the lawsuit, correct?
8 A. Correct.
9 Q. But you were named because you were the --
10 A. I recorded the operative note.
11 Q. What does that mean?
the 12 A. That means that I was the extreme underling in
13 operating room that did nothing but paperwork.
14 Q. Okay.
15 A. Did not touch the patient.
16 Q. Any other lawsuits?
17 A. No.

18 Q. That you've been a part of or been named in?
19 A. No, none.
20 Q. Any lawsuits in any other states?
21 A. None.
22 Q. Have you ever been an expert witness, acted as
an expert witness?
23
24 A. I have given medical opinions in cases.
25 Q. On patients that you did not treat?

25

1 A. Correct.
2 Q. Tell me about those.
3 A. I gave an expert opinion on a patient that had
4 packing placed in the nose that was slippery
5 packing, not secured to the nose. And following
6 surgery the patient aspirated the pack into
their trachea and had brain damage as a result.
7
8 Q. Did somebody hire you to be an expert?
9 A. Yes.
10 Q. Who?
11 A. The patient's side.
12 Q. The Plaintiff's attorney?
13 A. Correct.

14 Q. Me?
15 A. Right.
16 Q. Okay, so you gave an opinion.
17 What did the opinion consist of, that there
was
18 negligence?
19 A. Basically that standard of care would have been
to
20 secure the packing.
21 Q. And how was that resolved?
22 A. I do not know.
23 Q. Was that settled? You have no idea?
24 A. I do not know.
25 Q. Were you paid?

26

1 A. Correct.
2 Q. Any other expert work like that either for the
3 Plaintiff's attorney or for the defense?
4 A. That was only for the Plaintiff. And I've had
5 several for defense.
6 Q. When was the most recent?
7 A. Probably a year ago.
8 Q. What kind of case was it?
9 A. It may have been that one, the one I had
10 mentioned.

were 11 MS. HENRY: Well, if you
12 giving it for the Plaintiff --
13 BY MR. SWEENEY:
14 Q. That was the most recent case?
most 15 MS. HENRY: He wants the
16 recent defense case you've done.
17 Q. Yes, the most recent defense case,
18 A. I do not recall. I think that there was a very
--
19 no, I do not recall.
you? 20 Q. That's all right. Do you remember who hired
21 A. No.
22 Q. Was it a law firm?
23 Did Weston Hurd hire you as an expert?
24 A. Not that I'm aware of.
25 Q. Have they ever?

27

1 A. No.
2 THE WITNESS: Is that your
law 3 firm?
4 MS. HENRY: Yes.
5 BY MR. SWEENEY:

6 Q. Have you ever been a witness in a lawsuit?
7 A. No.
8 Q. Not a Defendant or a named party, but someone
who
9 is called in to tell them what you saw or what
10 happened?
11 A. No.
12 Q. So then I can assume that you've never been a
party
13 as a Defendant in litigation which involves
14 functional endoscopic sinus surgery?
15 A. Correct.
16 Q. Either as a doctor or during your residency?
17 A. Correct.
18 Q. Would you consider MetroHealth Hospital a
teaching
19 hospital?
20 A. Yes.
21 Q. Why?
22 A. There are a great number of residency programs
23 here.
24 Q. Why are some hospitals teaching hospitals and
25 others not?

1 A. The presence or absence of students/residents.

textbooks

2 Q. Doctor, what literature did you review prior to
3 this deposition?

4 A. Literature?

5 Q. I'm not talking about the medical records. I'm
6 talking, for example, did you review any

7 or any journal articles?

8 A. Well, I did review his record.

9 Q. Correct.

10 Other than this record, did you review any
11 other text, journal articles?

12 A. No.

13 Q. Nothing?

14 A. Nothing.

15 Q. Did you talk to any colleagues?

16 A. No.

17 Q. You met with your attorney, I assume?

18 A. Yes.

19 Q. And you did review the record, correct?

20 A. Correct.

21 Q. Is there anything, as you sit here now, missing
22 from that record?

23 A. One of the notes when I had seen Mr. Saadeh does
24 not appear to have been dictated.

25 Q. What note is that?

jot
I
for

1 A. There is a handwritten note, because I always
2 down notes to help me dictate. But the note --
3 believe it would be 3-28-2000.
4 Q. And what does that note consist of?
5 A. That was the note where I obtained his consent
6 surgery and discussed his CT scans with him.
7 Q. And what did that note contain?
8 A. That note would have contained our discussion of
9 his risks and benefits, discussion of possible
10 complications, discussion of his CT scan.
11 Q. Hold on one second. Discussion of risks and
12 benefits, discussion of --
13 A. CT scan.
14 Q. -- CT scan.
15 What CT scan?
16 A. I'm not sure what you mean.
17 Q. Sinus CT scan?
18 A. Obtained --
19 Q. I don't know. You reviewed it with him.
20 MS. HENRY: Well, it's the
21 one that was done here, obviously.
22 BY MR. SWEENEY:
23 Q. Well, if that's the case, then what --
24 A. Yes, I did not --
25 Q. There apparently was a CT scan done?

1 A. There was a CT scan, correct.

2 Q. Now, which one did you go over with him?

3 A. Which CT scan?

4 Q. Yes.

5 A. He had a CT scan done 3-21-2000.

6 Q. You went over that with him?

7 A. Yes.

8 Q. What else did you do?

9 A. Discussed the risks, discussed the fact that his

10 eye appeared a little more sunken on the left

than

11 the right, possibly related to a fracture shown

on

12 the CT scan.

13 He mentioned dizziness to me, and I obtained

an

14 audiogram, which was normal, and scheduled him

for

15 ENG testing.

16 Q. If you can look in the chart and tell me where

that

17 note would have been or should have been?

18 A. This is his initial visit. It should be in here

19 after that (Indicating).

20 Q. Okay, and it's not?

21 A. This is when his audiogram is, so it would have

22 been here (Indicating).

23 Q. Is that it (Indicating)?

24 A. Yes.

25 Q. All right.

31

1 A. Yes.

2 Q. Just so we know that that note has been found,
3 correct?

4 A. That written note.

5 Q. So that is a written note that you just talked
6 about?

7 A. Correct.

8 Q. I'll come back to that.

9 Doctor, did you do any independent research
10 regarding endoscopic sinus surgery or
complications

11 before this deposition?

12 A. No.

13 Q. Did you review any depositions from similar
cases

14 that may have occurred in the past?

15 A. No.

16 Q. Did you speak with any, quote, experts in the
17 field?

18 A. No.

this 19 Q. What independent recollection do you have of
20 patient, if any?
21 A. He was a pleasant individual. He was very
22 concerned about his health issues, and he
listened 23 very closely to everything I said.
24 Q. How was this patient referred to you?
25 A. I believe he was self-referred.

32

1 Q. Did he come directly to you?
2 He didn't come directly to you, did he?
3 A. Excuse me?
4 Q. He didn't come into MetroHealth Hospital and
say, I 5 want to see Dr. Houser?
6 A. I'm not aware of -- all I know is --
7 Q. Can we assume he came into MetroHealth Hospital
8 Systems and then was referred to the ENT
9 department?
10 A. I believe I was the first physician he saw at
11 MetroHealth.
12 Q. Okay.
13 A. So I believe he must have called our department
--
14 actually, no. He had been seen in the emergency

15 department years ago. He initiated the
16 appointment.
17 Q. Okay.
18 A. I believe.
19 Q. Is there anything else in the record that's
20 missing?
21 A. Nothing of mine.
22 Q. And of what you reviewed, are there any
23 inaccuracies?
24 A. Not that I'm aware of.
25 Q. Doctor, could we assume that, if there is

33

1 information that is not contained within the
2 medical record, that you don't have any
independent 3 recollection of that information?
4 In other words, is all the pertinent
5 information that occurred during the period of
6 your care of Mr. Saadeh, is it in the medical
7 record?
8 A. I fail to understand
9 Q. Well, you just stated to me that there was a
10 missing note, which we have since found, that
you

11 hand wrote the discussion of risks, benefits.
You
12 discussed the CAT scan of 3-21. You discussed
his,
13 quote, sunken eye, possibly related to a
fracture.
14 You discussed his dizziness. You ordered an
15 audiogram, and you scheduled ENG testing?
16 A. You misquoted me.
17 I said that this was a handwritten note
which I
18 utilized to help jog my memory when I dictate a
19 note.
20 MS. HENRY: It's a
dictated
21 note.
22 A. The dictated note is not present in the chart.
23 Q. Oh, well let's go back, then.
24 There is a dictated note that is not present
in
25 this chart?

34

1 A. That is not present in the system. It's not in
the
2 computer. It's nowhere. It must have been --
I'm
3 not sure what happened.
4 Q. So there was information that you discussed with

5 Mr. Saadeh that is not in this written note?

6 A. Correct.

7 Q. But is on this dictated note that is now
missing?

8 A. I would have dictated the note, and apparently
it

9 was lost and never transcribed.

10 Q. You would have, or you distinctly remember
11 dictating the note?

12 A. I did dictate a note.

13 Q. Okay.

14 A. And it's not available.

15 Q. Doctor, was this procedure necessary?

16 A. In my medical opinion, yes.

17 Q. Why?

18 A. Mr. Saadeh satisfied my clinical judgment that
he

19 had medical -- he had chronic rhino-sinusitis.

20 Q. What are those criteria?

21 A. Basically that he had positive CT scan evidence
of

22 rhino-sinusitis. He had positive physical exam
23 findings for rhino-sinusitis. He had positive
24 history for rhino-sinusitis.

25 Q. What other criteria led you to make a decision
that

1 this procedure was indicated?

2 A. Those --

3 Q. I mean, is there anything else?

4 A. No. Those three factors.

5 Q. *Any* other factors?

6 A. None that I can think of.

7 Q. You mentioned that there was a positive history
of rhino-sinusitis?

8 rhino-sinusitis?

9 A. Correct.

10 Q. Can you tell me where that is in the chart?

11 A. My initial note.

12 Q. What date?

13 A. 2-15-2000.

14 Q. All right, let's look at your assessment toward
15 the bottom of the page of the chronic
16 rhino-sinusitis.

17 A. Correct.

18 Q. What does the word chronic mean?

19 A. Chronic means his symptoms have been in
existence for three months or longer.

20 for three months or longer.

21 Q. What if the rhino-sinusitis existed for two
months, would that be chronic?

22 would that be chronic?

23 A. It would be more -- technically you would refer
to that more as subacute rhino-sinusitis.

24 that more as subacute rhino-sinusitis.

25 Q. So we're talking two to three months is the line
of So we're talking two to three months is the line

1 demarcation there, correct?

2 A. Perhaps.

3 Q. Let's just read along together.

4 Patient is a 48 year old male who presents
with
5 complaints of sinus infection that had been more
6 persistent worsening for approximately three to
7 four years, his last being two weeks ago.

8 He reports that he will have bloody drainage
9 each morning and feel congested each morning
has
10 requiring him to blow his nose. He reports he
will
11 yellowish green drainage at the time that he
12 blow, as well.

13 He did undergo a CAT scan of his sinuses
with
14 an emergency room evaluation in the past,
15 approximately one year ago.

16 Have you ever seen that CAT scan, Doctor?

17 A. Yes.

18 Q. When did you first take a look at that scan?

19 A. From my note, I do not believe that that was
20 present at this visit.

21 Q. I just asked you, when was the first time you
22 looked at the scan?

23 A. Most likely the next visit.
24 Q. And that would be when?
25 A. That would have been the 2-28.

37

1 Q. 2-28?
2 A. I believe, or 3-28.
3 Q. 3-28, okay.
4 Just show me where in the chart it's
reflected
5 that you reviewed the scan of 4-2-99.
6 A. That would be in the note that is not present.
7 Q. That's also in the note that's not present?
8 A. Most likely.
9 Q. You testified earlier that you discussed the CAT
10 scan of 3-21 of 2000, and I asked you what CAT
11 scan.
12 Now you're also telling me that you
discussed
13 and/or noted in this note that is now missing a
CAT
14 scan of 4-2-99, is that correct?
15 A. Briefly I pulled that out and looked at it,
16 because that was an older CAT scan. That's less
17 important.
18 Q. I'm not asking you its importance. I'm just
asking

19 you if you looked at it
20 A. Yes.
21 Q. And that is in this note that's missing,
correct?
22 A. Correct
23 Q. Let's go through that report further.
24 In the ER he was told -- about half way down
25 the first paragraph -- he was told that he had

38

treat 1 sinus infection and was given antibiotic to
burn 2 this. He reports his nose seems to actually
have 3 with inhalation. It seems very irritating to
4 that air. He denies any --
5 MS. HENRY: Have that air
6 pass through it.
7 BY MR SWEENEY:
8 Q. He denies any coryza. What is that?
9 A. Coryza symptoms would be allergy symptoms.
10 Q. And reports there's no history of atopic
11 disease.
12 I would assume that's something that you're
13 genetically --

14 A. Allergy disease within his family.
15 Q. Okay. Something genetic, I would assume?
16 A. There's a genetic pre-disposition to allergic
17 disorders.
18 Q. Occasional cigar smoke, though it's not a daily
19 habit. He has not smoked any significant degree
20 for ten years.
21 How does that affect your examination?
22 A. We know that tobacco smoke can be an irritant
23 can decrease mucous clearance, which can worsen
24 sinus disease.
25 Q. Reports only occasional alcohol use.

and

39

1 Does that affect sinuses in any way?
2 A. No.
3 Q. Or the condition?
4 A. No.
5 Q. He reports no significant past medical history,
6 he's on no medications.
7 So based upon this examination and the
8 information that's in this report, you decided
9 at the bottom, treat with Augmentin for four

and

to,

weeks,

10 correct?
11 A. Correct.
12 Q. Flonase and Duratuss?
13 A. Correct.
14 Q. And will undergo CAT scan of his sinuses and
follow
15 up with me in six weeks for a repeat evai.,
right?
16 A. Correct.
17 Q. At that time you weren't recommending endoscopic
18 sinus surgery, were you?
19 A. No.
20 Q. When is the next time you saw him after that?
21 A. 3-28-2000.
22 Q. 3-28-2000.
23 Now, would the dictated note that is missing
be
24 similar to the one that we just reviewed,
similar
25 in appearance?

40

1 A. It would be typed.
2 Q. Similar to the one we just read, correct?
3 A. I'm not sure what you mean. It would be typed.
4 Q. In other words, if we go back to the 2-15 --
would

5 it look like this (Indicating)? Would it have
6 history, exam, assessment, plan, or would it
look
7 differently (Indicating)?
8 A. Typically my initial evaluation is much more --
has
9 more information in it.
10 Q. So this would be longer than this missing
dictated
11 portion of the record that we cannot find?
12 A. Most likely, correct.
13 Q. The only records I have from 3-28 are this
14 handwritten note?
15 A. Correct.
16 Q. And this is the visit where you decided to
17 recommend functional sinus surgery?
18 A. Correct.
19 Q. Let's go through that note. That's your
20 handwriting, correct?
21 A. Correct.
22 Q. Can you just read through that for me?
23 Increased pain?
24 A. "Increased pain at left side of face. Blew
nose.
25 Bloody stuff. Ears hurt, pressure. Tylenol,

of 1 little help. Sudafed helps a little. Complains
2 dizziness with head back on ladder."
3 Q. Okay.
4 A. Hallpike negative.
5 Q. What's that?
bending 6 A. That's doing a brief look at his dizziness,
7 him back and looking for a nystagmus, and he had
8 none.
9 Q. You didn't find that?
10 A. There was no nystagmus.
cannot 11 Q. When did you dictate this note that we now
12 find?
13 A. That was dictated that day.
remember? 14 Q. Is this a specific recollection that you
15 A. Yes.
16 I always dictate all my notes.
17 Q. Because in this handwritten note, there's no
18 reference to the procedure, let alone any of the
19 risks or the CAT scans, can we agree on that?
20 A. Correct.
there 21 Q. Is there anywhere else in the record, or is
22 anywhere else in any other records in his entire
23 chart, anything that would reflect anything that
24 you spoke about with him that day other than
what 25 we have here (Indicating)?

surgery. 1 A. We have his signed consent form for that

2 Q. Okay, anything else?

with 3 A. We have a note later on when I was discussing

the 4 him what was going on that he recalled having

5 discussions with me.

6 Q. Okay.

7 A. A note that took place after the surgery.

8 Q. You didn't sign this (Indicating)?

9 A. Correct.

10 Q. You usually sign your notes?

11 A. Not always, no.

12 Q. What determines whether you sign it or not?

13 A. Basically, the little handwritten notes for the

14 most part are not as important. They are not

15 detailed. They are only to jog my memory.

16 Q. Okay.

17 A. So I don't bother to sign them.

18 Q. But sometimes you do?

19 A. Correct.

is 20 Q. For example, the next note is April 6th, which

21 the next visit that you had?

22 A. And that one I did not dictate, either. That

was a

23 very brief visit.
24 Q. Well, wait. You testified that you dictated the
25 last one, but it's lost. And now you just said

you

43

1 didn't dictate that one, either?

2 MS. HENRY: The next one.

3 BY MR. SWEENEY:

4 Q. So what's the answer?

5 MS. HENRY: The next one.

6 Q. Yes, this one (Indicating).

7 You said, I didn't dictate that, either, is
8 what you just stated?

9 A. Correct.

10 Q. So that means you didn't dictate the one before
11 that?

12 A. No. You're catching -- that one there's no
13 dictation for. That one there's no dictation

for

14 (indicating).

15 Q. Doctor, I don't want you to think I'm trying to
16 trick you here, okay?

17 A. You are trying to trick me.

18 Q. I'm not. I'm just asking you some questions.

19 The note on 3-28 --
20 A. Was dictated but is not present.
21 Q. -- was dictated but is now gone.
22 The note on April 6th was not dictated?
23 A. And I know that, because I put no D up at the
top.
24 Q. Okay.
25 A. Which I purposely did not dictate that.

44

1 Q. Why not?
2 A. It was a very brief visit.
3 Q. But that was the visit where you scheduled him
for
4 the surgery, correct?
5 A. No.
6 MS. HENRY: No. You're
7 talking about a subsequent one.
8 BY MR. SWEENEY:
9 Q. Schedule for --
10 MS. HENRY: What are we --
11 MR. SWEENEY: Hold on.
12 MS. HENRY: We need to
13 follow, yes.
14 MR. SWEENEY: If I'm
mistaken,

15 I'll go back.

16 MS. HENRY: Yes.

17 Q. I'm sorry, I was wrong. We'll get to that.

We'll

18 get to that.

19 So doctor, would you agree with me that the

20 most important record in my client's chart is

21 missing, correct?

22 A. No.

23 Q. Would you agree with me that an important record

in

24 my client's chart is missing?

25 A. I would say that it's unfortunate that that note

45

1 did not come through the transcription service.

2 Q. But you didn't answer the question.

3 A. I think I did.

4 Q. I asked you if that note that we cannot now find

5 was an important record in my client's chart.

6 MS. HENRY: Go ahead,

7 answer.

8 BY MR. SWEENEY:

9 Q. It's pretty simple. It's a yes or no.

10 MS. HENRY: Well, no, it

11 isn't necessarily a yes or a no.

12 A. The important item is his signed consent.
13 Q. Doctor, we'll get to that. I just need a simple
14 answer.
15 Is the record that's missing important, or
is
16 it not important?
17 A. Yes.
18 Q. We were talking about the indications for
surgery
19 here, and you had mentioned that my client met
all
20 of the criteria which would be an indication for
21 this procedure, and that is a CAT scan, which
had
22 positive findings, the physical exam, which had
23 positive findings, and a positive history,
24 correct?
25 A. Yes.

46

1 Q. Why did you treat my client with Augmentin,
2 Flonase, and Duratuss for four weeks or six
weeks?
3 I'm not sure which it was.
4 A. This is a combination of medications to combat
the
5 symptoms of chronic rhino-sinusitis and
potentially

6 avoid surgery.

7 Q. How do you know when they are not combating the

8 rhino-sinusitis?

9 A. Excuse me?

10 Q. How do you know when they are not working, when

11 these medications aren't working?

12 A. When the patient has a positive CT scan

following

13 this treatment and still has complaints

fo,llowing

14 this treatment.

15 Q. That positive CAT scan you would be talking

about

16 would be March 21st, correct?

17 A. Correct. That was obtained after he was on

these

18 medications.

19 Q. So essentially, and correct me if I'm wrong, but

20 what you're saying is he's not getting any

21 better?

22 A. Correct.

23 Q. Let's take a look at the CAT scan.

24 MS. HENRY: Do you have

25 another copy of that handy, by any

it,

of

is

1 chance?

2 MR. SWEENEY: Yes.

3 MS. HENRY: Oh, you have

4 okay. Let's just refer to that.

5 MR. SWEENEY: That's a copy

6 what I have here. I'm at 3-21, CAT scan,

7 3-21.

8 BY MR. SWEENEY:

9 Q. The scan was made on March 22nd of 2000, and it

10 compared to a CAT scan made on 4-2-99?

11 A. No.

12 Q. Comparison is made to a prior screening?

13 A. It's made on March 21st, 2000.

14 Q. What did I say?

15 A. March 22nd.

16 Q. All right, March 21st, 2000.

17 But it's compared to a prior screening on

18 4-2-99?

19 A. Correct.

20 Q. So actually you're kind of lucky here. You have

21 something to compare it to, correct?

22 A. Correct.

23 Q. You don't usually have that, do you?

24 A. No.

25 Q. So you're already ahead in the count.

1 There's a moderate mucosal thickening in the
2 left maxillary sinus worse than on the prior
3 screening. So that's worse?

4 A. Correct.

5 Q. So he's getting worse, okay.

6 Next paragraph, the right maxillary sinus is
7 clear and shows interval improvement. Wait a
8 second. Now it's getting better?

9 A. Correct.

means 10 Q. The right ostiomeatal unit is patent, which
11 there's no disease, correct?

12 A. No.

13 Q. What does that mean?

by 14 A. The ostiomeatal unit is an area that is impacted
15 the ethmoid air cells. Sinus thickening can be
16 seen to come and go, to swell and recede with
17 treatment.

18 Q. Where does it say that?

19 A. One CAT scan, which is a snap shot in time, can
20 only be taken as a snapshot in time in
21 combination with their medical history and their
22 physical exam.

23 Q. I just asked you what patent means.

24 A. Patent?

25 Q. Patent.

1 A. Patent refers to open.

2 Q. So it's clear. It's open.

3 The right ostiomeatal unit is patent?

4 A. I would have to see the CAT scan to look at it.

5 Q. Well, do you have any reason to doubt the
expertise

6 and competency of the radiologist?

7 A. No.

8 Q. Okay.

9 A. But I enjoy looking at the films myself to
assess

10 the disease.

11 Q. Okay, fair enough.

12 So then patent does not mean clear?

13 A. Patent means an opening. Patent does not mean

14 clear. Patient can still have thickening and
have

15 patency to have air go through the area.

16 If you took your hand --

17 Q. Does my client suffer from any polyposis that
you

18 can tell?

19 A. No.

20 Q. From this CAT scan?

21 A. No.

22 Q. Polyposis is more serious than mucosal
thickening?
23 A. No
24 Q. It's just different?
25 A. Polyposis and mucosal thickening sometimes can
go

50

1 hand in hand, and sometimes do not. But you
can't
2 make judgment on someone's state of disease
based
3 on that.
4 Q. What do you make it on? What do you base it on,
5 then?
6 A. Basically on their degree of complaints, their
7 symptoms.
8 Q. Okay.
9 A. Their physical exam findings.
10 Q. Okay.
11 A. And their CT scan realistically does not
directly
12 predict how poorly they feel. And that's been
13 shown.
14 Q. But the subjective feelings -- you make
decisions
15 based on subjective feelings of a patient?
16 A. I absolutely utilize those in helping me decide

how

factors 17 to treat a patient, yes. I add in a lot of

18 in treating patients.

19 Q. Okay, fair enough.

20 So we have the right ostiomeatal unit is
21 patent. Mild mucosal thickening is noted in the
22 ethmoid air cells bilaterally.

is, 23 That's the area where the lamina papyracea

24 correct?

25 A. Correct.

51

1 Q. So we have mild mucosal thickening. Nothing is
2 serious, right?

3 A. No.

4 Q. Worse on left and not significantly changed from
5 the prior study?

6 A. Correct.

7 Q. There's also mild mucosal thickening in the
8 sphenoid sinus, which is above the ethmoid,
9 correct?

10 A. No.

11 Q. There's the frontal, excuse me. Where is the
12 sphenoid?

also
ones

13 A. In the extreme back part of the nose.
14 Q. Behind the ethmoid?
15 A. Correct.
16 Q. The sphenoid sinus appears unchanged. That's
17 unchanged. And frontal sinuses, which are the
18 up on top, they are aplastic, which means what?
19 A. Not really formed.
20 Q. You mean there's no diseased mucosa in there?
21 A. It means the frontal sinus did not aerate.
22 Q. Could you put that in more common terms?
23 A. The sinuses did not form. The frontal sinus did
24 not form.
25 Q. They don't exist?

52

1 A. Correct.
2 Q. Really?
3 A. It's a variant.
4 Q. That happens?
5 A. Yes.
6 Q. You see that all the time?
7 A. Yes.
8 Q. Does that impact on the overall sinusitis?

9 A. No, not necessarily.

10 Q. Okay. I'm just trying to think if there's

11 anything here -- so basically he has no function

up 12 there.

13 What are the frontal sinuses? What do they

14 process?

15 A. What are they for?

16 Q. Yes. What are they --

17 A. The frontal sinus likely -- the purpose of the

18 sinuses likely are for a number of things, to

make 19 the head lighter as man evolved, allowed them to

20 stand upright, to act as a crumple zone so that,

21 when man is hit in the face, his brain is not

22 injured. They perhaps act as a resonance cavity

23 for speech.

24 Q. But that's not something that's abnormal, it's

just 25 a deviation, as you said?

53

1 A. it's not common, but it's not rare, either.

2 uncommon.

3 Q. Is there anything here that he should be worried

4 about?

5 A. No.

6 Q. Let's move on.

7 There was a deformity of the medial wall and

8 floor of the left orbit consistent with remote

9 fracture?

10 A. Correct.

11 Q. What does that tell you?

12 A. Basically he had the appearance that he had

13 suffered a fracture in the past that had gone on

to

14 heal.

15 Q. Does that tell you anything else?

16 MS. HENRY: About what?

17 A. I'm not sure what you're getting at.

18 Q. If it doesn't tell you anything else -- I mean,

you

19 know, that's a finding, correct?

20 A. Correct.

21 Q. Is it a significant finding?

22 A. It's a very significant finding.

23 Q. It's a very significant finding, okay.

24 Why is it a very significant finding?

25 A. Because his anatomy on the left side was not

likely

1 what it was prior to the accident.

2 Q. Prior to what accident?

3 A. Prior to whatever caused this fracture, this

4 supposed fracture.

5 Q. Did you ask him about it?

6 A. Yes.

7 Q. What did he tell you?

8 A. He vehemently denied that there was any history

of

9 a fracture.

10 Q. Well, was he lying to you, or --

11 A. I did not take it as lying.

12 I just discussed the fact that it appears

that

13 you have a fracture, and he stated emphatically

14 that that was not the case.

15 Q. Okay, well let's assume that that is what he

16 stated, okay? You're looking at a CAT scan.

YOU

17 say you like to look at them yourself.

18 Did you look at this one?

19 A. Oh, yes.

20 Q. This showed a prior fracture?

21 A. I explained it to the patient.

22 Q. I understand that, but it showed a prior

fracture,

23 correct? Now that's unmistakable, correct?

24 A. It certainly has the appearance of a fracture.

25 Q. Okay.

1 A. And this is what I described to him.

2 Q. What else could it represent?

3 A. Well, as he was so strident that he had never
had a
4 fracture, there are diseases that can cause the
eye
5 to sink, maxillary sinus hypoplasia, which he
6 really did not fit. But I mentioned that to
him.

7 Q. This is all coming from your memory, correct?

8 A. Correct.

9 Q. Okay.

10 A. I distinctly remember him being upset when I
11 discussed the possibility of an orbital
fracture.

12 A. I don't know why. People develop orbital
fractures

13 while riding a bike and --

14 Q. Well, did you tell him that?

15 MS. HENRY: Tell him what?

16 BY MR. SWEENEY:

17 Q. That, okay, maybe you didn't have anything wrong
18 with it, but it looks like it's a fracture?

19 A. Yes, I did.

20 Q. And what did he say?

21 A. He very much reported that he had never had a
22 fracture.

23 Q. All right, so I'm going back to your handwritten

24 note, the only note that we have.
25 Increased pain, left side of face. Blew
nose,

56

1 something stuff?
2 A. Bloody stuff.
3 Q. Bloody stuff. Ear hurt. Pressure. Tylenol
little
4 help. Sudafed helps a little. Complains of
5 dizziness with head back on ladder.
6 You did this whole Hallpike test?
7 A. Yes.
8 Q. But there's no mention of a fracture, which you
9 said is a very important finding?
10 A. Not in this written note, correct, there is none
in
11 my brief notes.
12 Q. Okay.
13 A. But it absolutely entered into our discussion
while
14 obtaining consent for surgery.
15 Q. So did you have a discussion with Mr. Saadeh
16 regarding the decision -- apparently he made a
17 decision to go ahead and have the surgery?
18 A. Correct.
19 Q. And that was made on the 28th?

20 A. Correct.

21 Q. Well, let's go back to your brief treatment.

22 You gave him six weeks of Augmentin,

Flonase,

23 and Duratuss, correct?

24 A. No. Four weeks of Augmentin.

25 Q. I'm sorry, four weeks of Augmentin?

57

1 A. Correct.

2 Q. Anything else?

3 A. Flonase and Duratuss.

4 Q. Right.

5 Flonase, that's a steroidal-based --

6 A. Nasal spray.

7 Q. Inhaler?

8 A. Correct.

9 Q. And Duratuss is -- I don't know what that is.

10 A. Duratuss is a decongestant with a mucolytic.

11 Q. What does that do?

12 A. To try to thin his mucous to allow it to flow

out

13 of his sinuses and a decongestant to try to

reduce

14 the swelling at the sinuses to allow things to

flow

15 out, as well.

16 Q. Did you ever prescribe him anything oral other
than

17 the Augmentin?

18 A. No.

19 Q. Did you ever consider oral steroid therapy?

20 A. I did not feel that it was appropriate in his
21 case.

22 Q. Why not?

23 A. He already had a CAT scan from years ago that
was

24 positive. And in my training, basically

steroids

25 work very effectively in the brief period. But

58

likely

1 with him having documented all this time, he

2 would relapse.

3 Q. Did you discuss with him the option of
continuing

4 with that line of treatment?

5 A. Yes.

6 Q. And what did he say?

7 A. That the medication had not worked, and he was

8 interested in surgery.

9 Q. Where is that noted in your chart?

10 A. That would be when I obtained consent.
11 Q. Was that in the note that we don't have?
12 A. It would have been there, yes.
13 Q. All right, *you* stated before that he met the
14 criteria, and that was physical exam, positive
15 history, and a CAT scan. But that was only the
CAT
16 scan of 3-21. Now you just mentioned a CAT scan
of
17 4-2. Does that also come into play in your
18 decision?
19 A. You're misquoting me when I said CAT scan. I
did
20 not refer to which CAT scan. And, yes, both CAT
21 scans play a role here.
22 Q. Let's go to the first CAT scan of 4-2-99.
23 By the way, how would you categorize his
sinus
24 disease, serious, moderate, mild?
25 A. Acute exacerbation of chronic rhino-sinusitis
when

59

1 I saw him initially.
2 Q. So in terms of other cases of rhino-sinusitis
that
3 you see, was this a bad one, or was it on the
lower

4 range, or where?

5 A. Fairly typical.

6 Q. So about medium range, about moderate in
severity?

7 A. I suppose.

8 Q. So there's nothing to keep you from going ahead
9 and trying out another course of treatments,
10 correct? Augmentin, Flonase, Duratuss, correct?

11 A. If he had opted for longer medical therapy? We
12 could have.

13 Q. Okay. Did you recommend that to him?

14 A. I gave him his options.

15 Q. Did you say, listen, we got this procedure in
front
to
16 of us, already some risks involved, do you want
17 go ahead and try this for a little bit longer
18 conservatively?
19 Did you tell him that?

20 A. I gave him his options.

21 Q. You remember telling him that specifically?

22 A. Yes, yes.

23 MS. HENRY: The statement
you
24 made? Do you want to know if he said
that
25 statement?

1 MR. SWEENEY: Well, anything
2 that's close to that statement.

3 A. Basically, I discussed the fact that his medical
4 therapy had been unsuccessful thus far. I'll
tell you what I basically say to people all the time.

5 I tell them, well, your medical therapy has
6 not been successful thus far. It can be continued.
We

7 can be conservative and continue that, or at
8 this point we can enter into a discussion about sinus
9 surgery.
10

11 And typically the patient wants to know what
12 that would entail, and then we discuss that.

13 Q. Isn't it true that you told my client that the
14 surgery was a simple procedure?

15 A. No.

16 Q. You never told him that?

17 A. That is not correct.

18 Q. Okay.

19 A. I would never make that statement.

20 Q. Why not?

21 A. Because sinus surgery has serious risks.

22 Q. Such as --

23 A. Would you like me to give you my spiel that I
tell patients?
24

25 Q. Sure.

of 1 A. The risks of sinus surgery are similar to risks
and 2 any surgery in that there's a risk of bleeding
which 3 infection. But then there are always risks
4 will be specific to any procedure, as well,
5 specific to sinus surgery.
always 6 As you can tell from the CAT scan -- I
7 do this looking at the CAT scan and pointing to
8 them -- here are your eyes. Here is your brain.
9 The risks include damage to the vision, at the
permanent, 10 extreme being blindness, which could be
11 and, as well, damage to the brain, including
12 leakage of CSF fluid, which leakage would
13 potentially be able to be repaired.
14 Q. Anything else?
over 15 A. I discuss the risks of septoplasty when we go
16 that, as well.
17 Q. What are those risks?
18 A. The risk of septoplasty being, again, bleeding,
could 19 infection, leaving a hole in the septum that
has 20 whistle, crust, or bleed, and risk of anosmia

21 been reported.
22 Q. What's that?
23 A. Loss of sense of smell.
24 Q. Did you mention diplopia to him?
25 A. Diplopia would be part of damage to vision.

62

slash 1 Q. So we have bleeding and infection, blindness
2 damage to vision --
blindness. 3 A. Damage to vision, at the extreme being
4 Q. Okay, and injury to the brain, leakage of --
5 MS. HENRY: CSF fluid.
6 A. Cerebrospinal fluid.
7 Q. Cerebrospinal fluid?
8 A. Correct.
9 Q. What else?
be 10 A. The septoplasty has different risks that have to
11 gone over, as well.
12 Q. So those are additional risks that you
explained, 13 correct?
14 A. Right.
15 Specifically with Mr. Saadeh, going over his

this 16 CAT scan, he had changes on his CAT scan with
risk. 17 possible fracture that put him at additional
18 Q. What are those?
19 A. Basically what we went over, just the chances of
20 injury are higher.
mentioned 21 Q. So the chances of all the things you just
22 are now increased, correct?
23 A. The chances of those risks are quite, quite low.
24 But on the side that he has had the fracture, he
is 25 at greater risk.

63

1 Q. So let me see if I get this right.
2 You sat him down and looked at the CAT scan
and 3 said, listen, I've treated you with this for
four 4 weeks. Do you want to go ahead and do it? He
said 5 yes, and you say okay?
6 MS. HENRY: Wait a minute.
7 That's not quite what he said.
8 A. This is not the way I --
9 MS. HENRY: You're
truncating

10 it.
11 MR. SWEENEY: I'm just
12 paraphrasing.
13 MS. HENRY: Still, I don't
14 want to see this later in a trial.
15 MR. SWEENEY: This is for my
16 understanding.
17 A. I'm a little offended. That's not the way I
talk
18 to patients. I'm very serious about the way I
talk
19 to patients.
20 Q. I'm not saying that you're not, Doctor.
21 A. Let me finish.
22 Entering into an agreement to do surgery is
a
23 very important, sacrosanct physician-patient
bond,
24 and I don't take it lightly.
25 Q. I understand.

64

1 A. Okay.
2 Q. I'm just talking about this patient, okay?
3 You sit down, and you explained the risks to
4 him. And the risks include bleeding, infection,
a

5 whole host of injuries to the eye, the extreme
6 being blindness, brain injury, leakage of spinal
7 fluid. What is that? You get meningitis from
8 that, right?

9 A. You could.

10 Q. Then all of the risks involving the other
procedure
11 of septoplasty?

12 A. Correct.

13 Q. And then you say, now, you've got something in
your
14 eye here, and it shows that it might have been
15 broken. So I want to tell you something. There
16 are more risks with that

17 That's essentially what you're telling this
18 guy, right?

19 A. No.

20 As I'm going over the risks, I point out the
of
21 fracture and say, you are at more risk because
22 what we see on this side.

23 Q. You also tell him, well, we can go ahead and
treat
24 you with some more antibiotics and some more
25 steroidal sprays, and he goes, no, I want to go

1 ahead and get this surgery?

2 A. That occurred prior -- I told him basically, you
3 could consider further medical therapy, or you
4 could consider at this point surgery, discuss
5 surgery with him completely and openly and
6 honestly, and I allow him to weigh the odds and
7 risks and decide which way he'd like to go.

8 Q. Did you feel he understood you as you were going
9 through this?

10 A. Yes, I did.

11 Q. In your pre-op, did you request a consult by an
12 ophthalmologist?

13 A. No.

14 Q. You felt that wasn't necessary?

15 A. Correct.

16 Q. Why not?

17 A. He told me that he had seen an ophthalmologist
18 the past and that his vision was okay.

19 And to my exam, other than his eye looking a
20 little more sunken, it seemed fine.

21 Q. Where is your office?

22 A. Across the street in the Medical Specialties
23 Building.

24 Q. What room?

25 A. I think the number on the door is 2131. That's

in

my

1 office. It's in the ENT area.

2 Q. Do you have a nurse or a secretary or assistant
3 that assists you?

4 A. Assists me where?

5 Q. In your duties in your office.

6 A. I have a secretary.

7 Q. What is her name?

8 A. Carmen.

9 Q. Carmen what?

10 A. Pagan, P-A-G-A-N.

11 MS. HENRY: Are you
talking

12 in the clinical part, that assists him in
13 the clinical part, or just somebody who
14 answers the telephone?

15 MR. SWEENEY: Whoever.

16 A. She answers the telephone.

17 Q. Was she there on this date?

18 A. No.

19 Q. Who was there on this date?

20 A. On which date?

21 Q. 3-28 of 2000.

22 A. Which secretary was there? Annette Golkowski.

23 Q. How do you spell that?

24 A. G-O-L-K-O-W-S-K-I.

25 Q. She's no longer with you?

1 A. She's here.

2 Q. She's in a different department?

3 A. No. She's there. At that time --

4 Q. I'm sorry, Carmen is no longer here?

5 A. Carmen is here now.

6 Q. Carmen is here now. Annette is no longer here?

7 A. Annette is here now. Annette was here
throughout.

8 Q. I got you.

9 A. Annette's job description changed over time.

10 Q. Where do you meet with patients when you do --

11 well, when you met with Mr. Saadeh, where did
you

12 meet with him?

13 A. In my patient exam rooms.

14 Q. That would be where, same place we're talking

15 about?

16 A. Not in my office, but in the --

17 Q. But there's exam rooms off of that, correct?

18 A. In the exam rooms off of that, correct.

19 Q. And who would be assisting you?

20 Does a nurse go in there and say, hi, the

21 doctor will be right with you. Take off your

22 clothes, or whatever?

in 23 A. The nurses would have been placing the patient
24 the room.
25 Q. What nurses would have been doing that at that

68

1 time?
2 A. At that time, potentially Henry Wheeler or Kathy
3 O'Hearn, or it could have been an MTA.
4 Q. Who is that, or what is that?
5 A. She's a medical assistant, Sandy -- what was
6 Sandy's last name? Sandy has left.
7 MR. SWEENEY: I just want to
8 mark one of those, but you can look at
it. 9 MS. HENRY: What's the
10 date?
11 MR. SWEENEY: This is the
12 informed consent.
13 (Thereupon, Plaintiff's Exhibit 1 was marked for
14 identification.)
15 MS. HENRY: Are we done
with 16 3-28 now?
17 MR. SWEENEY: I suppose.
18 BY MR. SWEENEY:

19 Q. The 3-21 CAT scan, Doctor, can we agree that the
20 findings on that CAT scan are not severe?
21 A. No, we cannot agree to that.
22 Q. Okay, why not?
23 A. It documents absolute evidence of rhino-
sinusitis
24 following a medical treatment. You cannot
utilize
25 a CAT scan to tell you how bad someone is in
terms

69

1 of their rhino-sinusitis. That's been shown in
2 multiple studies.
3 Q. Doctor, I understand that.
4 A. I don't think you do understand that.
5 Q. I'm asking you to take it within the four
corners
6 -- believe me, I understand it.
7 I'm asking you, within the four corners of
the
8 CAT scan, which is a snapshot, your words, the
9 disease that is reflected in the findings here
from
10 Dr. Simon are not severe, yes or no?
11 A. No, no. You cannot --
12 Q. No, they are not severe, or yes, they are
severe?

13 A. Severe connotes a patient's symptoms.
14 It's been shown time and time again that
15 patients' symptoms do not correlate directly
with a
16 CT scan.
17 Q. We'll let your attorney worry about that, okay?
18 I'm just asking you to answer that specific
19 question.
20 A. What is your specific question?
21 Q. Specific question is, within the four corners of
22 the impression, the findings of the CAT scan of
23 March 21st of 2000, the sinusitis, or the
disease
24 process that's going on in my client's sinus, is
it
25 or is it not severe?

70

1 A. It is severe.
2 Q. Doctor, what is informed consent?
3 A. Informed consent implies that a patient has been
4 fully appraised of all the potential
indications,
5 contraindications, risks, and possible benefits
6 from surgery, that they are able to understand
what
7 you've discussed with them and agree to that

8 surgery full well knowing that those risks are
9 extant and --
10 Q. Are what?
11 A. Are -- exist and could occur.
12 Q. Let's take a look at Plaintiff's Exhibit 1.
13 MS. HENRY: We'll just
look
14 at our copy. It's better.
15 BY MR. SWEENEY:
16 Q. Doctor, what is this?
17 A. This is the patient's informed consent page.
18 Q. Can I take a look at the original?
19 A. Yes.
20 Q. Doctor, where on this does it list the risks of
21 this procedure?
22 A. "I understand the nature and purpose of the
23 treatment/procedure. I also understand the
24 expected benefits and complications of the
25 treatment and/or the procedure, discomforts and

71

1 risks that may arise, as well as possible
2 alternatives to the treatment/procedure, and the
3 risks and consequences of no
treatment/procedure.
4 I've been given an opportunity to ask questions,

5 and all of my questions have been answered to my
6 satisfaction."
7 Q. Would you consider this a general consent?
8 A. Yes.
9 Q. Where on this form does it list the additional
10 risks which exist because of the preexisting
11 fracture to the orbit?
12 A. It does not. Those were verbally conveyed to
Mr .
13 Saadeh.
14 Q. And where is that reflected in the chart?
15 A. This would have been on 3-28 when we discussed
16 everything.
17 Q. The dictated note that we can't find, correct?
18 A. Correct.
19 Q. Doctor, how many functional endoscopic sinus
20 surgery procedures have you performed in your
21 career?
22 A. Four to 500.
23 Q. That's from when to when?
24 A. From the time I started residency until last
25 week.

1 Q. How many functional endoscopic sinus procedures

2 have you performed with a microdebrider of that
3 four or five hundred?

4 A. Most of them. 90 percent of them.

5 Q. What are the other 10 percent?

6 A. If a patient had a lesion that did not require a
7 microdebrider, for example, an endoscopic
8 sphenopalatine artery ligation.

9 Q. I won't even go there.

10 MS. HENRY: Why don't we
11 spell that right now so we don't lose it?

12 A. S-P-H-E-N-O-P-A-L-A-T-I-N-E artery ligation.

13 Q. Of that 90 percent, how many of those were
14 performed with a Hummer?

15 A. We had a Hummer during residency, and that's
16 had Metro buy when I came here during my
17 fellowship. They did not have a Hummer. They
18 a different machine. So perhaps 85 percent.

19 Q. How many -- what percentage -- so now we're
20 getting down to --

21 MS. HENRY: 85 of 90.

22 BY MR. SWEENEY:

23 Q. 90 percent of -- was it 400 or 500?

24 A. It's hard for me to estimate. I'm making a
25 rough estimate. I have not surveyed and seen how
many.

1 Q. All right. Let's give you the benefit of the
2 doubt. Let's go 500.
3 Out of the 450 of the 500 that were done
with a
4 Hummer, 85 percent of those -- or with a
5 microdebrider, 85 percent of those were done
with a
6 Hummer?
7 A. Yes.
8 Q. All right. So now we're down to about 400 now,
9 right, give or take 25?
10 How many of those that were done with a
Hummer
11 were done on patients with preexisting orbital
12 fractures?
13 A. Probably in the order of 25.
14 Q. Out of those 25, what number represented
procedures
15 done with a Hummer on patients with preexisting
16 fractures to their orbital sockets that also
showed
17 evidence of a bulging lamina papyracea?
18 A. That's a sign that someone has had a fracture.
19 Q. Okay.
20 A. So basically it would --
21 Q. So it's the same thing, then?
22 A. Right.
23 Q. All right, so it's 25, then.
24 So they all show a bulging lamina papyracea?

25 A. Correct.

74

be 1 Q. Then, by nature of where it is, it would always

2 in the ethmoid sinus?

3 A. Correct.

4 Q. And of that 25, in how many of those procedures
5 were there complications?

6 A. Mr. Saadeh's.

7 Q. Just one?

8 A. Yes.

9 Q. How do you explain that?

observed 10 A. We were extremely careful, despite that we

11 orbital fat.

Let's 12 Q. All right, I'm getting ahead of myself here.

13 move back to the informed consent.

14 Doctor, would you consider this an invasive
15 technique?

16 A. Yes.

17 Q. Invasive procedure?

18 A. Yes.

minor 19 Q. Would it be a major invasive procedure or a

in

20 one?
21 MS. HENRY: Or somewhere
22 between?
23 BY MR. SWEENEY:
24 Q. Well, yes, or somewhere in between?
25 A. It's somewhere in between.

75

1 Q. Moderately invasive?
2 A. Yes, yes.
3 (Thereupon, Plaintiff's Exhibit 2 was marked for
4 identification.)
5 BY MR. SWEENEY:
6 Q. Doctor, Plaintiff's 2 is the MetroHealth Medical
7 System informed consent policy?
8 A. Yes.
9 Q. Have you ever seen this before?
10 A. I do not recall.
11 Q. Does that mean you might have seen it when you
12 first came on board and just forget?
13 A. Correct.
14 Q. Let me direct your attention to --
15 MS. HENRY: Why don't you
16 give me the Bates number on the bottom?

17

MR. SWEENEY:

Page 20.

to

18

Q. At letter E there it states, "A general consent

19

examination and treatment is not sufficient as

20

consent for surgical procedures, invasive

21

techniques, blood transfusions," and so on.

22

A general consent is what you said that Mr.

23

Feyruz Saadeh signed, correct?

24

A. Correct.

then,

25

Q. So that would be in violation of the policy,

76

1

as set forth in subsection letter E?

ahead.

2

MS. HENRY:

Well, go

right?

3

This is a consent for this procedure,

consent,

4

It's not like an overall, general

5

you can treat me.

6

BY MR. SWEENEY:

7

Q. Doctor, how do you understand that to read?

is

8

A. A general consent to examination and treatment

9

not sufficient as consent -- this states that a

10

general consent to examine and treat is not

enough

which

11 for you to operate on someone. You need to do a
12 surgical consent, is what they are implying,
13 is what we did.

14 Q. Let's go down to number 2, then, below that.

15 "Any medical regimen or procedure performed
16 that is of substantial risk requires informed
17 consent."

18 Would you consider this to be a substantial
19 risk procedure?

20 A. Yes.

21 Q. Closed reduction, that's the example there. The
22 procedures are classified as follows: major and
23 minor invasive, so on and so forth.

24 A signed consent form should be obtained for
25 major procedures?

77

1 A. Yes.

2 Q. Did you actually hand this consent form to the
3 patient?

4 A. Yes.

5 Q. So he would be incorrect -- he would be mistaken
6 if he claims that a nurse gave him this form to
7 sign?

8 A. That would be false.

9 Q. Okay. I sensed you wanted to use stronger

10 language.

11 MS. HENRY: As in not

true?

12 MR. SWEENEY: A little bit

13 stronger than that.

14 MS. HENRY: I think they

15 are all the same, no matter what way you

put

16 it.

17 A. As you can see, this is my handwriting filling

this

18 out. I fill this out, discussing everything

19 thoroughly with the patient, and then allow him

to

20 ask questions. And then he signs it.

21 Q. Why do you have to have these in the chart?

22 A. Have a consent form?

23 Q. Yes.

24 A. To document that all the risks were explained

and

25 understood by the patient.

1 Q. What I have here doesn't explain all the risks?

2 A. To document that it was explained. I didn't say

3 that it had everything written down.

4 Q. Well, I don't know. I'm getting a little

5 frustrated, because the most important single

6 medical record in my client's chart doesn't

7 exist.

8 Doctor, what's your complication rate for

this

9 procedure?

10 A. I have exposed orbital fat several times. No

one

11 has ever gone on to have any serious sequelae.

so

12 it depends on what you consider a complication.

13 I strive for perfection in all of my patient

14 outcomes. So exposing orbital fat to me is a

15 complication.

16 The literature would typically designate

that

17 as a minor complication. So I think that that

18 would be Mr. Saadeh and two patients that I have

19 exposed orbital fat in, as well.

20 Q. Was there any medial rectus muscle involvement

in

21 those two?

22 A. NO.

23 Q. As there was here?

24 A. I'm not aware of any medial rectus involvement

in

25 this case.

1 Q. You would have no opinion on that one way or
2 another?

3 A. I would have no opinion on that.

4 Q. Did you tell Mr. Saadeh that you had had two
prior
5 problems with exposed orbital fat in patients?

6 A. These were subsequent to Mr. Saadeh.

7 Q. Oh, when did those happen?

8 MS. HENRY: Objection,
9 because they are not relevant.

10 MR. SWEENEY: I know.
11 He can give me generally. Last week,
12 today?

13 A. No. Probably six months ago and probably a year
14 ago.

15 Q. Was there any double vision involved in either
of
16 those cases?

17 A. No.

18 Q. Have they resolved?

19 A. Completely.

20 Q. Are you aware of any pending litigation or
claims?
21 A. No. The patients are healed and fine. And I
have
22 had follow up with them to know that there was
no
23 sequelae.

24 Q. How many procedures do you think you performed
25 since you've been here, since '99?

1 Let me ask you this, you reserve a surgical
2 suite for these functional endoscopic sinus
surgery 3 procedures, correct?
4 A. Correct.
5 Q. Is that a particular day of the week?
6 A. Typically Fridays at MetroHealth proper and
7 Tuesdays or Wednesdays at the surgery center.
8 Q. And that's every week?
9 A. Correct.
10 Q. So you're doing this procedure two days a week?
11 A. Possibly. I do other surgeries, as well.
12 Q. But you have the suites reserved on those days?
13 A. I have priority time on Fridays, and Tuesdays we
14 have departmental priority time at the surgery
15 center.
typical 16 Q. And how many procedures would you do on a
17 Friday?
18 A. How many different types total?
19 Q. Yes.
20 A. Varies if they're short or long procedures.
21 Q. On the average?

no
22 A. On the average?
23 Q. Two, fifteen?
24 A. I believe four.
25 Q. All right. Just so we're clear here, there is

81

1 indication in the record, let alone the informed
2 consent, of the risks involved in this
procedure?

3 A. No.

4 MS. HENRY: Written down?

5 A. No.

6 MR. SWEENEY: That's what a
7 record is for.

8 MS. HENRY: Okay.

9 BY MR. SWEENEY:

10 Q. No?

11 A. Incorrect.

12 Q. There are not?

13 A. Later, during one of my discussions with Mr.

14 Saadeh, I reminded him of the fact that we had

15 discussed all this preoperatively, and he agreed

16 that, yes, we had, in fact, discussed all this.

17 And that is documented.

18 Q. Why do you do that?

that. 19 A. Because I felt it was important to document
someone? 20 Q. Document something that you already told
21 A. Yes.
22 Q. For what purpose?
I 23 A. He and I had had a discussion on the phone, and
our 24 felt it appropriate to document the contents of
25 discussion.

82

1 Q. What was the discussion, and when did it take
2 place?
3 A. You should have the note.
4 Q. Well, I'm masking you.
5 A. I can look it up. I'm never going to find it in
6 this chart.
7 Q. Is it 4-25? I don't have it marked, but it's --
8 well, no, because it says here that you didn't
--
9 you discussed this with someone else.
10 MS. HENRY: Let me see
here.
11 Hold on. Here it is (Indicating).
12 A. It was written 5-3-2000.

13 Q. Go ahead and read it. I'll find it.
14 A. Basically I spoke --
15 MS. HENRY: Just read this
16 (Indicating).
17 A. "Mr. Saadeh, during yesterday's phone
18 conversation, did recall our pre-op discussion
19 regarding possible, though rare, injury to the
eye,
20 vision" --
21 Q. Okay, I've never seen this. Can I take a look
at
22 this, please? Where is this in the chart?
23 MS. HENRY: I don't know,
but
24 I have it, so --
25 MR. SWEENEY: Where is the

83

1 original?
2 MS. HENRY: Why don't we
take
3 a break while we look?
4 (Thereupon, a short recess was taken.)
5 BY MR. SWEENEY:
6 Q. Doctor, let's take a look at this. There's
another
7 record here which I've just been provided which

8 wasn't in the chart that I requested from
9 MetroHealth Hospital.

10 I was wondering if you could go ahead and
just
11 read this beginning with May 2nd?

12 A. "I called Mr. Saadeh with radiologist's
13 interpretation of CT orbits. Musculature
normal,
14 evidence of old fracture. Patient vehemently
15 denies any orbital trauma in past. Dr. Caramen
16 feels strongly only trauma could produce results
as
17 seen on original and follow up CT scan, i.e.
floor
18 fracture, medial wall, bowing. Mr. Saadeh is
19 understandably very distressed with his vision
20 difficulties. Will be two weeks post-op
tomorrow.

21 I asked him to give more time to recover. I
22 remained very up front and attentive to his
23 needs. Attempted to contact Dr. Ross today to
see
24 if any plan as to his recovery." I signed that.

25 Following that, "Mr. Saadeh, during
yesterday's

1 phone conversation, did recall our pre-op

injury 2 discussion regarding possible, though rare,
3 to the eye, vision, or brain/CSF leak. We had
CT 4 discussed at that time the significance of his
5 findings and the appearance of old fracture.
The 6 possibility of enophthalmos over time was
7 discussed."
8 Q. Why didn't you put that in the day before note?
9 A. I talked to him late in the evening and
10 basically wrote down at least a portion of
11 what we had discussed. And I was driving home,
12 and I realized, you know, we also discussed
13 that. I probably ought to put that in the
record, 14 too.
15 And so the following day I entered that
making 16 it very clear that this entry was from
yesterday's 17 conversation -- from the previous day's
18 conversation.
19 Q. So we go from handwritten notes that take up
maybe 20 six or seven or eight lines to a full page
21 specifically setting forth what happened after
the 22 fact?
23 A. Correct.
24 MS. HENRY: Well, you also
25 have this full --

1 BY MR. SWEENEY:

2 Q. It sounds like the note taking is getting a
little
3 bit more detailed, doesn't it?

4 MS. HENRY: Well, his note
on
5 4-26 is very detailed, too, and it's
6 handwritten.

7 MR. SWEENEY: We'll get to
8 that.

9 Q. Let's go through this again. "May 2nd, I called
CT 10 Mr. Saadeh with radiologist's interpretation of
11 orbits."

12 Now, this refers to the CAT scan which was
13 taken on May 1st, correct?

14 A. Correct.

15 Q. And what did you call him for?

16 A. I called him, because he had seen Dr. Ross. Dr.
17 Ross had ordered the CT scan, but Mr. Saadeh
18 felt
19 that he was not getting back to him. He was
20 very
21 worried about his status. And caring deeply for
22 upon
23 him, and wanting the best for him, I took it
24 myself to track down the CAT scan and inform him
25 of
26 the results.

23 Q. Fair enough.
24 "Patient vehemently denies any orbital
trauma
25 in the past." Sounds like he's getting pretty

86

1 angry here.
2 A. Yes.
3 Q. Did you explain to him, hey, listen, don't worry
4 about it. This could have occurred for a number
of
5 different causes?
6 Did you explain that to him?
7 A. Early on, originally, yes, and -- yes.
8 Q. I guess I'll have to ask him that.
9 Who is Dr. Caramen?
10 MS. HENRY: Caramen.
11 BY MR. SWEENEY:
12 Q. Caramen?
13 A. He's a neuroradiologist here at MetroHealth.
14 Q. "He feels strongly that only trauma could
produce
15 results as seen on" --
16 A. Original and follow up CT scan.
17 Q. Why does he feel strongly?
18 A. It was his medical opinion when I discussed the

CT 19 case with him. This CAT scan, as you know, was
20 done on the 1st. It was not dictated yet.
21 Q. Okay.
22 A. So I tracked down Dr. Caramen and discussed the
23 with him. I wanted to get very rapid results
24 Q. Okay.
25 A. And I wished to convey those to Mr. Saadeh.

87

record.) 1 (Thereupon, a discussion was had off the
2 BY MR. SWEENEY:
That 3 Q. "I.E. floor fracture, medial wall, bowing."
4 means the lamina papyracea is protruding?
5 A. Correct.
6 Q. As in the April 2nd of '99 CAT scan?
7 A. Correct.
8 Q. "I remained very up front and attentive to his
9 needs."
10 Were you not before?
11 MS. HENRY: It says, "I
12 remain."
13 A. I remain.
14 Q. I don't understand why you go from jotting down

a

15 couple of words to explaining things that really
16 don't need to be explained. I don't understand
17 that.

18 MS. HENRY: Objection.

19 You know that what you think is
20 important or what you don't think needs

to

21 be explained isn't really relevant.

22 MR. SWEENEY: Well, I was

going

23 to ask him a question.

24 MS. HENRY: That was a

25 statement and prefacing it with a
statement.

88

1 You don't get to say to the jury, well, I

sort

2 don't understand why this is. That's

3 of the golden rule, isn't it?

4 MR. SWEENEY: All right.

5 MS. HENRY: See what I'm

6 getting at, Francis?

7 . MR. SWEENEY: Let me cover a

informed

8 couple more questions regarding the

9 consent.

10 BY MR. SWEENEY:

11 Q. It says here, Doctor, "Only authorized forms may
be
12 used for this purpose," which is the informed
13 consent.

14 MS. HENRY: Can we see
what
15 you're referring to?

16 MR. SWEENEY: On Bates 21.

17 MS. HENRY: Bates 21?

18 MR. SWEENEY: Three-quarters
of
19 the way down, letter F.

20 MS. HENRY: Letter F.
What
21 subnumber, or are you just in the first
22 paragraph?

23 MR. SWEENEY: First
paragraph
24 of F.

25 MS. HENRY: Okay

89

1 BY MR. SWEENEY:

2 Q. Towards the bottom, "It is also advisable that
the
3 physician/provider places a separate note

4 discussing the substance of the informed consent
5 discussion with the patient in the progress
notes."
6 That, you're stating, was done, correct?
7 A. Correct, was dictated and lost in the system.
8 Q. Do you know if it ever was in the chart --
9 A. I am not aware.
10 Q. -- as these other notes that have been dictated
are
11 in the chart and we've been going over? I don't
12 understand how this works.
13 A. I do not recall seeing it.
14 Q. All right. Since you brought it up, *let's go* to
15 the 4-26 note.
16 MS. HENRY: Are we done
with
17 this (Indicating).
18 MR. SWEENEY: Yes. I'm not
19 going to mark this.
20 (Thereupon, a discussion was had off the
record.)
21 Q. I think you can read along with this. All
right,
22 this says May 26th. So this is --
23 MS. HENRY: April 26th?
24 MR. SWEENEY: April 25th.
25 Q. This is nine days after the surgery,

1 approximately?

2 A. Yes.

3 Q. "Last night I discussed Mr. Saadeh's case with

4 Dr." --

5 A. Steinman.

6 Q. Dr. Steinman. He's an ophthalmologist?

7 A. Correct.

8 Q. "His brief assessment was that no problem should

9 remain long-term, but that Dr. Ross, orbital

trauma 10 expert, would be the most experienced person to

11 examine Mr. Saadeh?"

12 A. Correct.

13 Would you like me to read?

14 MS. HENRY: No. Just let

him 15 ask you a question.

16 BY MR. SWEENEY:

17 Q. Let's go ahead and read that.

18 A. "Today I removed the splints and cleaned his

nose 19 endoscopically. No problems. Left lamina with

20 mild clot overlying it. No fat visualized."

Mr. 21 Saadeh does not report -- or excuse me, "Mr.

Saadeh 22 does report some diplopia with extreme lateral

or 23 medial gaze before the second OR case.

Unchanged 24 after that second OR case. Physical exam has

not

pupils 25 changed. Extraocular missiles intact, and

91

1 equally round and reactive to light. Left eye
2 still appears enophthalmic versus the right
3 eye."

4 Q. What's that?

5 A. It looks a little sunken.

6 Q. Okay.

7 A. "Same as noted, though not documented, before
the 8 first operation."

9 This is when I had realized that that note
from 10 a long time ago was not in the chart. We
discussed 11 a possible orbital fracture.

12 Q. Wait, it says not documented or not dictated?
13 There's a difference.

14 A. This says not documented. So it's not in the
15 chart.

16 Q. Right.

17 So it's not documented, correct? Isn't that
--

18 MS. HENRY: That's what
you 19 meant?

20 BY MR. SWEENEY:
21 Q. Shouldn't you have said --
22 MS. HENRY: Don't tell him
23 what he should do.
24 MR. SWEENEY: All right,
then
25 I'll ask you some questions.

92

1 MS. HENRY: Fine.
2 BY MR. SWEENEY:
3 Q. You've been telling me this whole time that you
4 dictated it.
5 When was the first time you realized that it
6 wasn't in the chart?
7 A. Likely around this time when I was writing this
8 note (Indicating).
9 Q. Well, that doesn't make sense to me.
you
10 Why would you go looking for -- why would
11 go looking through a chart -- I mean, do you
12 specifically recall discovering that this
dictated
13 note that we're all looking for didn't exist
back
14 then, or was it just recently?

that 15 A. This note would suggest that I realized it at
16 time, that it was missing.
17 Q. Okay.
18 A. But I can't manufacture an old note.
until 19 Q. Okay. Now you're relying on the chart. Up
has 20 now, you've been relying on your memory, which
21 been pretty damn good.
22 So let me ask you --
ask 23 MS. HENRY: I'm going to
just 24 that also be stricken. Why don't you
with 25 ask the question without prefacing it

93

1 something, your editorial comment?
2 MR. SWEENEY: All right.
3 A. I'm not sure where you're going with this.
4 Q. You don't have to be sure.
5 A. Okay.
asking 6 MS. HENRY: I'm simply
of 7 that he just ask you a question instead
8 prefacing it with his editorial comments.

that. 9 MR. SWEENEY: I will do

10 MS. HENRY: Thank you.

11 BY MR. SWEENEY:

12 Q. Back in your note it says, right eye, same as

13 noted, in parenthesis, though not documented,

14 correct?

15 A. Correct.

16 Q. That's what that states?

17 A. That's what that states.

18 Q. Documented means not placed down and/or written

19 down, dictated, or in any way reduced to paper,

20 correct, or computer?

had 21 A. It means that I could not find the entry that I

22 made in the past in the chart.

23 Q. Why didn't you say that, then?

note. 24 A. I was being brief, attempting, in this long

25 Q. All right.

record.) 1 (Thereupon, a discussion was had off the

2 BY MR. SWEENEY:

noted, 3 Q. Let me see if I have this correct. Same as

I'm 4 though not documented. Let me back up. Maybe
5 getting ahead of myself.
6 What are we referring to? What is, same as
7 noted, though not documented?
in 8 A. That I had recognized it, but I could not find
9 my chart my note that should have been there.
10 Q. That stated what?
time 11 A. That stated the appearance of his eye at the
12 of 3-28.
13 Q. You mean the same note that we're talking about?
14 A. Right.
15 Q. That we have been talking about?
16 A. Right.
17 Q. That note, the note, the missing note?
18 A. Correct.
19 Q. That's what you're referring to here, as well,
20 right?
21 A. Correct, yes.
22 Q. Okay.
couldn't 23 A. That's why it's not documented, because I
24 find anything in there -- I knew his eye had had
25 those findings.

1 Q. But it sounds to me like you just didn't put it
2 down, from this wording.

3 Can you understand why I'm thinking that?

4 MS. HENRY: Objection.

5 BY MR. SWEENEY:

6 Q. It says, "though not documented."

7 MS. HENRY: Francis, he's
8 explained it to you like three times.

9 A. Yes.

10 MR. SWEENEY: Okay.

11 MS. HENRY: If you want to
go

12 on along this line, then we'll go back to
13 the court, and we'll get him to say
whether

14 you can ask it a fourth or a fifth time.
15 Let's just move on.

16 MR. SWEENEY: Okay.

17 Q. "I contacted Dr. Ross today, who agreed to add
him
18 to his busy schedule. Mr. Saadeh was agreeable
to

19 this plan." Recommended that he contact --

20 A. That he continue to exercise his eye.

21 Q. Continue to exercise the eye.

22 A. "Keep his extraocular muscle active, even though
he
23 has some pain with looking about."

24 Q. "Dr. Ross was concerned with possible muscle
25 entrapment, but I assure him that any lamina

1 perforation" --

2 A. Is about six millimeters round.

3 Q. "Very unlikely for this to be an issue?"

4 A. Correct.

5 Q. Do you have any opinion as to the subsequent

6 diagnosis and the opinions of Dr. Ross? I

assume

7 you read his reports.

8 A. Yes, I have read his report.

9 Q. You know part of his diagnosis included muscle

10 entrapment?

11 A. Possible muscle entrapment, yes.

12 Q. You disagree with that?

13 A. That was certainly in the differential.

14 Q. Go ahead and just read.

15 A. "I suspect orbitai edema slash inflammation is

16 responsible for all these symptoms, pain,

blurry,

17 diplopia with extreme lateral/medial gaze and

they

18 will resolve with time with no sequelae.

Certainly

19 the amount of fat extruded from his lamina was

20 minuscule compared to the amount which is

exposed

21 to spill into the nose during endoscopic orbital

big 22 decompression for Grave's disease." That's a
23 procedure compared to exposing orbital fat, a
24 little tiny bit.
25 "I had made Feyruz full aware of the fat

97

closely 1 exposure immediately post-op, and I've been
myself 2 monitoring him and obtaining follow up with
3 and Dr. Ross. This evening we discussed Mr.
mortality 4 Saadeh's case at departmental morbidity
5 conference. My colleagues, Dr. Tucker, Carter,
care 6 Antoine, agreed that no breech of standard of
is 7 has taken place, and ophthalmologist follow up
which 8 wise. My honesty with the patient is vital,
9 it has been. No sequelae is expected, but
10 continued monitoring is necessary."

his 11 "I will see Feyruz next week to re-assess
will 12 surgical site and to follow his progress. I
13 provide Dr. Ross with full records."

standard 14 Q. Were you concerned that you breached the

15 of care?

16 A. This is a patient that was very upset, and I had

17 had a complication. There was no doubt we had

18 a complication. I felt badly that I had had a

19 complication.

20 Morbidity and mortality, we basically

21 any complications, and it was pointed out to me

22 my colleagues that this was minor.

23 Q. Did they review the records?

24 A. They reviewed the CT scans, and I explained

25 everything to them fully.

had

discuss

by

98

1 Q. Were they aware that he had had a prior fracture

2 which pre-disposed him to an increased risk of

3 injury to that eye?

4 A. Yes.

5 Q. They all agreed that no breech of standard of

6 had taken place?

7 A. That was their wording to me. I came to them to

8 ask them about this complication.

9 Q. Doctor, have you ever treated patients with

care

for 10 rhino-sinusitis when they come under your care
11 more than six weeks?
12 A. Excuse me?
13 MS. HENRY: Before what?
14 BY MR. SWEENEY:
of 15 Q. My client came under your care on February 15th
16 2000, correct?
17 A. Yes.
18 Q. You treated him for a period of six weeks. Then
19 you scheduled surgery?
20 A. Correct.
schedule 21 Q. Is that your customary treatment plan and
22 for patients that come to you with sinusitis?
how 23 A. That is how some patients are treated and not
24 all patients are treated, no.
25 Q. How are others treated?

1 A. Others may have extended antibiotic therapies.
2 Others may go on allergy shots.
3 Q. Did you offer allergy shots to Mr. Saadeh?
4 A. He denied coryza symptoms talking to him
5 symptom-wise. He did not make me feel that he

had

6 allergy as a component.

7 Q. That's not in the record, though, anywhere.

8 MS. HENRY: Yes, it is, on

9 the first day.

10 A. Yes, yes.

back

11 MS. HENRY: It was way

12 in the beginning.

13 A. "Denies coryza symptoms. No family history of
14 allergy."

15 Q. Coryza, you're right, that tough word?

16 A. Yes.

17 Q. Doctor, did you perform all stages of this
18 procedure?

19 A. Excuse me?

20 Q. Did you perform all stages of this procedure?

under

21 A. All stages of this procedure were performed
22 my guidance, yes.

yes

23 Q. Did you perform all stages of this procedure,
24 or no?

restate.

25 A. This is my procedure. Perhaps you could

1 Q. Simple question, doc. You've got, you know --
2 MS. HENRY: Why don't we
just
3 ask who actually did the procedure?
Maybe
4 that would be easier.
5 BY MR. SWEENEY:
6 Q. Who did it?
7 A. This is my --
8 MR. SWEENEY: Maybe
Defendants
9 will be changing soon.
10 A. This is my procedure. And did I have a resident
11 assisting me in the case? Yes, I did.
12 Q. Who?
13 A. Paul Scolieri.
14 Q. Anybody else?
15 A. No.
16 Q. Okay. What did he do?
17 A. Dr. Scolieri worked on performing the endoscopic
18 work and septoplasty.
19 Q. Was he operating the endoscope when the lamina
20 papyracea breach occurred?
21 A. It was in his hand.
22 MR. SWEENEY: Deirdre, you
23 could have saved me three hours. Do you
24 realize that?
25 MS. HENRY: You asked for

1 this guy.

2 MR. SWEENEY: Excuse me for

3 second. You know what I'm talking about.

4 MS. HENRY: Actually, I

5 don't. You asked for this guy.

6 (Thereupon, a short recess was taken.)

7 (Thereupon, the record was read.)

8 BY MR. SWEENEY:

9 Q. Are you aware, to your knowledge, of how many
10 procedures he had performed prior to this?

11 A. On patients?

12 Q. How many endoscopic sinus surgeries, yes.

13 I'll ask him, but do you have any idea?

14 A. I would just be guessing.

15 Q. Okay.

16 A. I'd rather not guess.

17 Q. Dozens, a hundred?

18 A. I'd rather not guess.

19 Q. Well, I mean, you have some idea of residents
20 are in his similar situation and how many they
21 done, don't you?

22 A. I was relatively new to the program, so I have
23 say I'd prefer not to guess.

24 Q. Nowhere in this chart does it say that Dr
Scolieri

25 performed this procedure?

102

It's
place
1 A. You have to understand, this is my procedure
2 done on a TV screen. Everything that takes
3 is at my direction.
4 Whether my hands are on an instrument or
5 somebody else that I'm telling them what to do,
6 it's me controlling the surgery. This is my
7 complication.
8 Q. Correct.
9 A. Dr. Scolieri is negligible to this entire case.
10 Q. Did you ever inform Mr. Saadeh that Dr. Scolieri
11 would handle any part of this surgery or be in
any
12 way involved in his care with this extremely
risky
13 endoscopic procedure?
14 MS. HENRY: Objection.
15 BY MR. SWEENEY:
16 Q. Did you ever tell my client --
17 MS. HENRY: Wait a minute.
18 You're trying to say extremely.
19 MR. SWEENEY: I'll rephrase.

going 20 Q. Did you ever tell my client Dr. Scolieri was
21 to do anything on him?
Houser 22 A. My informed consent clearly documents "Dr.
the 23 or his/her designee or assistants to initiate
24 following treatment and/or procedure."
25 Q. Doctor, I'm just asking you a question

103

to 1 Did you ever tell him, listen, I'm not going
2 be doing this. I'm going to be assisting. I'm
be 3 going to be supervising someone. I'm going to
4 in there, but this resident, this third year
5 medical student, will be performing this
procedure 6 on you under my supervision?
7 Did you ever tell him that?
8 MS. HENRY: Wait a minute.
9 He's not a third year medical student.
10 BY MR. SWEENEY:
11 Q. is it in that missing note?
12 MS. HENRY: You are
13 misstating. He's not a third year
medical

You

14 student, and you know that very well.
15 know he's already graduated from medical
16 school and --
17 MR. SWEENEY: Excuse me, I'm
18 sorry. He's not even the chief resident.
19 What is he?
20 MS. HENRY: I do listen to
21 the questions that are asked, you see.
22 MR. SWEENEY: That's good.
23 MS. HENRY: Yes.
24 Q. All right, let's make this very simple.
25 A. Mr. Saadeh was informed that I worked with

104

clearly

And

1 residents. MetroHealth is very well known for
2 being a teaching program. It is not hidden in
3 any fashion that MetroHealth is a teaching
4 hospital.
5 Mr. Saadeh signed a consent that very
6 states that I may have an assistant with me.
7 that is precisely what he is if he's holding the
8 instrument.
9 Q. Let's go back to the consent again.

10 A. If he's holding the instrument, he is still the
11 assistant, and I am in control.
12 Q. Doctor, we know what the practical meaning of
that
13 is. And we know what the legal meaning of it
is.
14 MS. HENRY: He doesn't
know
15 what the legal meaning of anything is.
16 BY MR. SWEENEY:
17 Q. I hereby authorize Dr. Houser to do this
18 procedure. He was under the impression you were
19 doing it.
20 MS. HENRY: It says or his
or
21 her designees or assistants to initiate
the
22 following procedure.
23 If your client didn't read this, it's
24 not our problem.
25 MR. SWEENEY: Did you ever
--

105

1 tell that to the jury.
2 MS. HENRY: He said I
3 informed him I work with residents.
4 MR. SWEENEY: Okay.

5 MS. HENRY: Didn't he?
6 THE WITNESS: Yes.
7 MR. SWEENEY: Yes.
8 MS. HENRY: He did say
9 that.
10 MR. SWEENEY: He did.
11 BY MR. SWEENEY:
12 Q. Was that put down in your note that we can't
13 find?
14 A. Probably would not have bothered to enter into
15 that, no.
16 Q. So you're saying that you're not hiding the
17 fact --
18 A. No.
19 Q. -- that this is a teaching facility?
20 A. No.
21 Q. That's good. If you were, that would be fraud.
22 MS. HENRY: Objection.
23 know --
24 A. Right.
25 THE WITNESS: It's very --

You

1 MS. HENRY: Doctor, just

be

2 quiet.

be

3 MR. SWEENEY: I'm trying to

4 professional here.

wasn't

5 MS. HENRY: Well, that

6 a professional comment.

7 MR. SWEENEY: I apologize.

8 MS. HENRY: Along with

9 several of the other ones that have been

10 made here.

11 BY MR. SWEENEY:

element

12 Q. Doctor, what is the single most important

13 of a successful endoscopic sinus procedure?

14 A. Single element?

15 Q. Or one of the most important.

trying

16 MR. SWEENEY: I'm just

17 to give him some leeway here.

18 A. Preservation of mucosa.

19 Q. Would experience of the endoscope operator be up
20 there?

21 A. As what? Be up there as what?

22 Q. Well, would a more experienced endoscopist --

23 A. More experience than who?

the

24 MS. HENRY: Let him ask

25 question. It's his job to do here

1 BY MR. SWEENEY:

2 Q. This whole time I'm sitting here, and I'm asking
3 you questions, and I'm asking you these
4 questions personally specifically referring to what you are
5 doing. And you answer these questions when you
6 physically did not have any control over the
7 endoscope that was in my client's sinus cavity.

8 MS. HENRY: Wait a minute.
9 You know what, Francis --

10 MR. SWEENEY: Frankly, I'm
11 frustrated.

12 MS. HENRY: Wait a minute,
13 Francis. Stop right there. You have not
14 actually asked him one question about what
15 happened in the procedure until very
16 recently.

17 The two and a half hours that we have
18 spent in this deposition you have spent
19 the discussing, what did you find, what are
20 note, indications, you know, what about this
21 what about that note, what were your
22 discussions with anybody. You haven't
23 asked a single question until just now about

this

24

procedure at all. You haven't even asked

25

any questions about the surgery.

108

an

1

MR. SWEENEY:

Deirdre, make

2

objection, and just move on, okay?

to

3

MS. HENRY:

You're trying

4

say for this whole deposition you've been

5

under the misimpression somehow that he's

6

actually doing the procedure, the actual

7

physical procedure itself.

imagine

8

MR. SWEENEY:

I can't

9

why I would do that.

of

10

MS. HENRY:

Because none

11

the questions you've asked to this point

12

have to do with the procedure. Isn't

that

13

true? All these visits leading up to and

14

all his follow up after were his. That's

15

what you've been spending all this time

16

asking questions about. And the record

is

17

going to show that.

18 MR. SWEENEY: Let's move on.
19 Let's move on.
20 MS. HENRY: Once again,
21 you've got the editorial comment that
caused
22 me to do that.
23 MR. SWEENEY: Let's move on.
24 MS. HENRY: Aside from the
25 fact that it's late.

109

1 BY MR. SWEENEY:
2 Q. All right, Doctor, tell me what happened in the
3 procedure. What happened?
4 A. We knew that Mr. Saadeh's left side would be the
5 more complicated side. Basically we have his
CAT
6 scans up in the operating room on the screen for
us
7 to look at.
8 Looking at that, it appeared that most
9 appropriately would be approach the right side,
the
10 more accessible, less risky side. So we did
that.
11 Also his septum was deflected toward the left,
so

12 the right side was more open.
13 We performed that side. And again I say we.
14 Dr. Scolieri did a healthy portion of it. I
mean,
15 he physically had his hands on it a healthy
16 portion. And I observed everything, watched
17 everything like a hawk from a TV camera, from a
18 video monitor, whether I am the one physically
on
19 the instrument or observing.
20 And I am in control of all his moves the
entire
21 time telling him, go here, go here, go there, go
22 there, pointing out on the screen. So the right
23 side went very well. Dr. Scolieri did an
excellent
24 job.
25 Then performed septoplasty basically to help

110

left 1 straighten his nose and to gain access to the
2 side, and then performed the left side.
3 Q. So his hands were on the controls the duration
of 4 the procedure, correct?
5 I understand you were watching and right
there,

6 but his hands were on the controls, correct?

mind 7 A. His hands were on the physical instrument. My

8 was the control.

9 Q. Understand. But his hands were at --

10 A. I'm getting from your phrasing that you are not

11 agreeing with me.

12 Q. No. I'm just trying to get this straight.

if 13 MS. HENRY: We don't care

14 he agrees.

15 BY MR. SWEENEY:

16 Q. I understand that you were there. You were

17 watching. You were directing. You were

18 supervising. You were the captain of the ship,

19 okay?

20 A. Correct.

21 Q. But he is the one that is actually moving the

this 22 endoscope through the sinus at all points of

23 procedure, correct?

24 A. Not at all points.

25 Q. What points is he not maneuvering the endoscope?

111

myself 1 A. At some point, I always will take the scope

2 and look around to get an assessment, is there
3 something the resident missed, et cetera.

4 Q. Did that happen here?

5 A. Yes.

6 Q. When?

7 A. On the right side, when he had completed that
side,
8 I inspect it.

9 Q. So you go over, and you actually take control
and
10 say, let me look around in here, right?

11 A. Correct.

12 Q. I'm just trying to get this on a lay level,
okay?
13 Because some of the wording is pretty difficult
for
14 me.

15 And then on the left side --

16 A. On the left side, then, we set off to again take
17 down the uncinata, which we did, in standard
18 fashion.

19 Q. I mean just when were your hands on the controls
on
20 the left side?

21 A. On the left side, my hands were on after we
exposed
22 fat.

23 Q. Okay. So Dr. Scolieri was at the controls when
the
24 lamina papyracea was violated, blown out,
25 perforated, however you want to put it?

1 MS. HENRY: Objection.

2 Q. Well, whenever it occurred.

3 MS. HENRY: Well, I'm

going 4 to ask that you rephrase.

5 MR. SWEENEY: How do you

want 6 me to say it?

7 MS. HENRY: I'd ask you to

8 rephrase.

9 BY MR. SWEENEY:

10 Q. When the complication occurred involving the

lamina 11 papyracea, was Dr. Scolieri at the controls?

12 A. His hands were on the instruments. He was at

the 13 controls.

14 Q. That's all I'm trying to find out here.

15 After that, after that happened, you took

over 16 the controls?

17 A. Correct.

18 Q. What did you do, and why did you take over?

19 A. I wanted to look in there again to see, had fat

20 spilled out, et cetera.

21 Q. Anything else? So you went in there, and you

22 looked, right?

23 A. Yes.

24 Q. Then did you hand the controls back to him?
25 A. No. We were basically done at that point, done

113

1 with the sinus portion for sure.

2 Q. Was Dr. Scolieri aware of the prior orbital
3 fracture?

4 A. Yes.

5 Q. Was he aware of the bulging medial wall, lamina
6 papyracea?

7 A. Yes.

8 Q. Had he ever operated, to your knowledge, on a
9 patient with similar prior history to the orbit?

10 A. I cannot answer that. I do not know.

11 Q. You don't know?

12 A. I do not know.

13 Q. Then he's never done it with you, then, correct?

14 A. I do not recall doing a case like that with him
15 before.

16 Q. How long had he been in your suite or your team

--

17 how does that work? Is a resident teamed up
18 with you?

19 A. No.

20 They spend a certain amount of time at Metro
21 and a certain amount of time at various
hospitals.

22 Q. How many other procedures similar to this -- or
23 endoscopic procedures in general had you been
24 involved with him at the same time?

25 A. I would estimate ten.

114

1 Q. How did those come out?

2 A. Perfect.

3 Q. No complications?

4 A. Perfect implies no complication.

5 Q. Okay. I just want to be sure.

6 For functional endoscopic sinus surgeries,
is

7 there a certification procedure?

8 A. No -- actually, I take that back. When we are
9 board certified, it's part of that.

10 Q. The implication is that you are competent to
11 practice FESS surgery?

12 A. Correct.

13 Q. Obviously Dr. Scolieri is not board certified?

14 A. Correct.

15 Q. In otolaryngology?

16 A. Correct.

17 Q. That takes care of a lot of these questions
18 A. The captain of the procedure obviously is.
19 MR. SWEENEY: What?
20 MS. HENRY: Board
certified.
21 MR. SWEENEY: Yes, we know
22 that.
23 BY MR SWEENEY:
24 Q. I mean, my question is, is the man whose hands
are
25 on the controls when this happened, is he board

115

1 certified? And the answer is no, he's not?
2 A. No.
3 Q. How often had Dr. -- well, I'll ask Dr. Scolieri
4 these questions. I don't have too much more for
5 you, Doctor.
6 MR. SWEENEY: Although, I'm
7 afraid not to ask him some of these
8 questions, because he may know more than
Dr.
9 Scolieri.
10 MS. HENRY: Go ahead. Ask
11 away. I'm just assuming -- you aren't
going

12 to ask all these things, what happened at
13 the first visit, what happened at the
second 14 visit to Dr. Scolieri, because he wasn't
15 there.
16 MR. SWEENEY: I don't know.
17 I'm crazy. I might.
18 BY MR. SWEENEY:
19 Q. Doctor, the Hummer, is it a microdebrider, a
back 20 biter?
21 A. it's a microdebrider. It's not a back biter.
22 Q. It's not a back biter?
23 A. No.
24 Q. i had a picture of one.
25 Is it kind of like this? I'm going to draw
it

116

1 just so we don't get any objections here
2 (Indicating).
3 MS. HENRY: That's all
right. 4 I'll object to your drawing.
5 BY MR. SWEENEY:
6 Q. Is it kind of like that (indicating)?
7 A. Correct.

8 Q. Is it an oscillating, or is it a rotating blade?
 9 A. Oscillating.
 10 Q. Which means it goes back and forth?
 11 A. Correct.
 12 Q. Instead of around and around (Indicating)?
 13 A. Correct.
 14 Q. You have an oscillating blade.
 15 Are there different sizes of these Hummers?
 16 A. Yes.
 17 Q. And how are they sized, in terms of diameter,
 18 length?
 19 A. It terms of diameter.
 20 Q. What are the sizes?
 21 A. I know which one would have been used in this
 22 case.
 23 Q. That would be --
 24 A. A sharp edged 4.0
 25 Q. Sharp edged 4.0?

117

R-P, 1 MS. HENRY: Sharp, S-H-A-
 2 edged 4.0.
 3 A. Right.
 4 Q. What's 4.0?

5 A. That's the diameter.

6 Q. Four millimeters?

7 A. Correct.

8 Q. So the diameter of the entire tube which it's

9 housed in is 4 millimeters?

10 A. Correct.

11 Q. Wow. And there's a blade in there?

12 A. Correct.

13 Q. There's not a light in there, is there?

14 A. No.

15 Q. The light is provided by --

16 A. The endoscope.

17 Q. -- the endoscope itself, okay.

18 So you have both these instruments in there?

19 A. Correct.

20 Q. Do they both go through one sheath?

21 A. No.

22 Q. They are separately inserted?

23 A. Correct.

24 Q. Why did you choose this type of Hummer?

25 A. This blade tends to do less trauma to the
tissue.

sharp

and

to

there

aware

rate

I

sure

- 2 things. And passing it in and out of the nose
- 3 against tissue can give cuts and so forth.
- 4 Q. What's the variant in terms of sizes, from what
- 5 what?
- 6 A. Four millimeters, 2.7 millimeters. I think
- 7 might be a 3.5.
- 8 Q. But 4 is the largest?
- 9 A. Four is the largest that I utilize. I'm not
- 10 that there's larger.
- 11 Q. Is the oscillation rate adjustable?
- 12 A. Yes.
- 13 Q. And there's suction in this, as well, correct?
- 14 A. Correct.
- 15 Q. Is the suction rate adjustable?
- 16 A. Yes, yes.
- 17 Q. And do you have any idea what the oscillation
- 18 or the suction rate was during this procedure?
- 19 A. The standard oscillation rate is like 2,400 rpm,
- 20 believe.
- 21 Q. And what was the suction rate --
- 22 A. That wouldn't be rpm.
- 23 Q. That's tech stuff.
- 24 A. RPM would be revolutions per minute. I'm not
- 25 what 2,400 actually means.

1 Q. Pretty fast?

2 A. No. Much slower than if it's unidirectional.

3 Q. Okay.

4 A. Then it's like 10,000 rpm.

5 Q. Okay. It has to travel longer to get back
around.

6 Here it just has to go back and forth, so --

7 about the same, I would think.

8 MS. HENRY: Objection to

9 your --

10 MR. SWEENEY: I'm sorry.

11 MS. HENRY: You just can't

12 resist doing that, can you?

13 MR. SWEENEY: I'm sorry.

14 BY MR. SWEENEY:

15 Q. What was the suction rate set on?

16 A. The suction rate at the time of the surgery
would

17 have been on full.

18 Q. Full suction rate?

19 A. Yes.

20 Q. So Dr. Scolieri, armed with this knowledge, as

21 well --

22 A. Correct.

23 Q. -- breached the lamina and exposed the orbital
fat

24 and may have done damage to the medial rectus
25 muscle?

120

them

1 A. During our careful dissection, we unexpectedly
2 encountered orbital fat.

3 Q. The contents of the suction, can you visualize
4 as they come out?

5 A. Yes.

pan

6 Q. Are they collected in some sort of a bin or a
7 or something?

8 A. Yes.

9 Q. Do you keep an eye out for what comes out?

10 A. Yes.

11 Q. Is that part of it?

12 A. Yes.

13 Q. And can you see when fat comes out as opposed to
14 when mucosa comes out, or tissue?

15 A. Absolutely.

16 Q. And was any noticed?

17 Did you look down and go -- is that how you
18 first noticed?

19 A. We basically, carefully dissecting along his

20 ethmoid area, pushed in with a straight suction
21 into an area that looked as though it should
have
22 air behind it, and did not encounter any fat at
23 that point, and so then proceeded to place the
hole
24 Hummer through that small hole to widen that
25 to put the Hummer in. And in the process, we
saw a

121

and
1 small globule of fat that appeared disconnected
2 went into the suction.
3 Q. Okay.
out,
4 A. Turned off the suction, took the microdebrider
5 looked at the suction tubing.
6 Q. Who noticed it, you or him?
7 A. Both.
8 Q. Okay.
9 A. Immediately.
10 Q. Is this procedure videotaped?
11 A. There was not a video tape made, no. Sometimes
we
12 have a video tower that could have a VCR
13 capability, but --
14 Q. But this isn't documented anywhere?

15 A. No.

16 Q. Doctor, did you feel it was important to inform
my
17 performing client that a resident would be actually
18 the procedure, or should I say have his hands on
19 the controls?
20 Did you feel that was necessary, to tell him
21 that beforehand?
22 A. No.

23 Q. Why not?

24 A. Because this is my case, and I am in control of
it.

25 Q. On your informed consent form, or the notes that
we

122

1 have, or the notes that were dictated that we
2 don't have, on any of those documents, does it
3 state the percentage of occurrence of each
4 complication?
5 A. No.

6 Q. So then it wouldn't state the increased
percentage
7 of complication with the orbital wall fracture
8 preexisting?
9 A. It would have stated that he was at greater risk

10 due to his old fracture, but there are no
11 percentages that would ever be stated.
12 Q. On the note we don't have, because we already
know
13 what we do have, on the note that we don't have,
14 does that state that you discussed it with the
15 patient, which you said you have, and you did,
but
16 that you discussed the increased risks of all
the
17 complications?
18 A. On the side of the orbital fracture, yes.
19 Q. That was stated in that note?
20 A. Right.
21 I can tell you my standard dictation, if
you'd
22 like.
23 Q. Well, with regard to patients who have
preexisting
24 orbital trauma, which increases their risks,
sure,
25 go ahead.

123

1 Which you've had what, 25 of them?
2 A. Basically -- well, what I can give you is a
3 standard approach. And then what I would have
--

4 what I said --

5 MS. HENRY: We already
went

6 through that.

7 BY MR.. SWEENEY:

8 Q. If there's anything additional. If you already
9 stated it, we already have it in the record.

10 MS. HENRY: Didn't we go
11 through this? You have these risks. And
12 because you have this, you have a little
13 more of a risk.

14 Q. You stated that to him?

15 A. Yes.

16 MS. HENRY: I think, if
you

17 go back in the transcript about an hour
ago,

18 it was in there.

19 MR, SWEENEY: I know.

20 A. Yes, it was in there.

21 Q. Does the informed consent, or the attachment
notes,

22 dictation, state any alternatives that are
23 available in dealing with this sinus disease?

24 A. Informed consent mentions that alternatives were
25 gone over.

1 Q. Okay.

2 Are there any other alternatives other than

the

3 continued conservative management through

4 medication?

5 A. Well, I already went over those with you.

6 Q. I forgot. I 'm sorry.

7 MS. HENRY: About an hour

8 ago.

9 MR. SWEENEY: I 'm sorry.

10 BY MR. SWEENEY:

11 Q. Conservative treatment with the medications,

that

12 we discussed. What other alternatives are

there?

13 A. Well, if he had allergies, then to work with

that.

14 Q. Okay.

15 A. Simply irrigating his nose, continuing to

irrigate,

16 continuing to live with his symptoms.

17 Q. He didn't want any of that?

18 A. He did not want any of that.

19 Q. Okay.

20 A. He chose to accept the risks and undertake the

21 surgery.

22 Q. Would you agree that the informed consent was

used

23 in an effort to limit your liability?

24 A. No.

25 Q. You don't think so?

that 1 A. I think the informed consent was to document
2 he and I had discussed everything.
3 Q. Okay.
a 4 A. I don't think of legal in every step I take with
5 patient.
6 (Thereupon, a discussion was had off the
record.)
7 BY MR. SWEENEY:
consult 8 Q. Doctor, when was the first ophthalmological
9 subsequent to the occurrence, the fat bulging?
10 A. That would be the note from Dr. Ross.
11 Q. He saw the patient on 4-28 -- just to make it
12 quicker. Would that be right?
13 A. Right.
14 Q. So the ophthalmologist saw the patient ten days
15 afterwards?
16 A. Correct.
hospital 17 Q. Did you not consider a consult within the
18 on that day or that evening?
free 19 A. I carefully assessed his eye and felt it to be
20 from complication and did not feel it required.

this 21 Q. Do you know what the standard of care is for
22 procedure with a prior orbital fracture?
objection. 23 MS. HENRY: Well,
24 I think standard of care is a legal term,
25 don't you think?

126

1 THE WITNESS: Yes.
2 BY MR. SWEENEY:
3 Q. Doc, first mention of standard of care was way
4 before I got involved here. I'm asking you.
It's 5 in your records.
6 MS. HENRY: I think he
told 7 you that's what those doctors told him.
He 8 went to them to ask about a complication.
9 Q. Are you familiar with that term?
10 A. Standard of care?
11 Q. Yes.
12 A. I have heard that term.
13 Q. What does it mean to you?
14 A. That's a legal term implying what a reasonable
and 15 prudent physician would do.

16 Q. In like or similar circumstances?
17 A. Correct.
18 Q. Just to round it out.
19 Doctor, isn't it true that anatomical
landmarks
20 in the sinus, and knowing where those landmarks
21 are, are critical to maintaining your spatial
22 orientation as you maneuver through?
23 A. Correct.
24 Q. Isn't it true that most complications that do
occur
25 occur due to lack of visibility within the
sinus?

127

1 A. Correct.
2 Q. Was there a poor visibility during the period --
3 A. No, no. We were able to see. We had done
4 septoplasty to give us more room, and there was
no
5 undue bleeding.
6 Q. You have the standard anatomy in the sinus,
7 correct?
8 A. Correct.
9 Q. Which is pretty similar from person to person,
10 patient to patient?

11 A. It varies a lot from patient to patient.
12 Q. That's the reason for the CAT scan?
13 A. Right.
14 Q. So you have to study each scan, correct?
15 A. Correct.
16 Q. For each patient, which you said that you did
17 here?
18 A. Correct.
19 Q. Did you give the scans to Dr. Scolieri to take a
20 look at before this ever started?
21 A. Before the surgery, he and I went over the CAT
scan
22 at some length.
23 Q. Okay.
24 A. Yes.
25 Q. Did you explain to him, listen, this is going to

128

he's
1 change the lay of the land in there, because
2 got this bulging because of the fracture?
3 A. Correct.
4 Q. He was aware of that?
5 A. Correct.
6 Q. So you would not say that lack of visibility in
7 that area played any role in this occurrence?

an
8 A. No.
9 Q. What do you believe explains it, then?
10 A. That it was unexpected, so I 'mnot sure I have
11 explanation for you.
12 Q. Okay, that's fine.
13 Is Dr. Scolieri still with the --
14 A. He's a resident still in the program.
15 Q. Do you still see him?
16 A. Yes.
17 Q. Have you talked to him about this?
18 A. No, no.
19 (Thereupon, a discussion was had off the
record.)
20 A. A letter did arrive for him, and I informed him
of
21 that fact, that your letter -- that was what I
told
22 him a long time ago.
23 Q. Okay.
24 MS. HENRY: That's the
25 lawsuit, I think.

129

record.) 1 (Thereupon, a discussion was had off the
2 BY MR. SWEENEY:

3 Q. How experienced would you say Dr. Scolieri is in
4 this procedure?
5 A. Well, again, it goes back to my experience,
though.
6 I'm controlling the case, and I am experienced,
and
7 I can take someone through that that has had
very
8 little training.
9 So the question comes down to, am I
experienced
10 in this? And the answer is yes.
11 MR. SWEENEY: This is why I
ask
12 questions four times, I just realized.
13 MS. HENRY: Well, I guess
--
14 no, because he said that earlier. But I
15 think you'll have to ask Dr. Scolieri,
you
16 know, his experience.
17 Q. I will. I'm asking your opinion here. And I
18 understand that you're under adversarial
19 circumstances here, but you do have an opinion?
20 A. As to what?
21 Q. At the time of this, on March 17th of 2000, how
22 experienced was Dr. Scolieri in conducting this
23 procedure with a Hummer debrider at maximum
24 suction with an oscillating blade in an area
where
25 he knew or should have known that there was a

if 1 bulging lamina papyracea which could do damage
2 breached?
3 A. He was more competent than the average
to 4 otolaryngologist out in practice. So he was up
5 standards of --
6 Q. That still leaves a lot of variant.
7 MS. HENRY: Well, I guess
8 that's going to be.
9 MR. SWEENEY: That's fine,
10 that's fine.
11 I'm still looking for the original
here.
12 Let's take one quick look, and I think
we're 13 done.
14 MS. HENRY: And the CV.
15 MR. SWEENEY: And the CV.
16 Doctor, thank you. Sorry I have to
put 17 you through this. I have no more
18 questions.
19 MS. HENRY: When you
20 transcribe this, one week is never
enough.
21 Can I have two weeks to get him to review
22 it?
23 MR. SWEENEY: oh, yes, yes,

24 that's fine.
25 MS. HENRY: One week is
never

131

1 enough to get these things reviewed.

2

3

4

5 _____
Steven M. Houser, M.D. date

6

7

8 (DEPOSITION CONCLUDED AT 6:20 P.M.)

9

10

11

12

13

14

15

16

17

18

19

20

21
22
23
24
25

132

1 STATE OF OHIO,)
2 COUNTY OF CUYAHOGA.) SS:
3 CERTIFICATE
4 I, MICHELLE R. HORDINSKI, a Registered
5 Merit Reporter and Notary Public within and for the
State
6 of Ohio, duly commissioned and qualified, do hereby
7 certify that the within-named witness, STEVEN M.
HOUSER,
8 M.D., was by me first duly sworn to tell the truth,
the
9 whole truth and nothing but the truth in the cause
10 aforesaid; that the testimony then given by him was
11 reduced to stenotypy in the presence of said witness,
and
12 afterwards transcribed by me through the process of
13 computer-aided transcription, and that the foregoing
is a
14 true and correct transcript of the testimony so given
by

15 him as aforesaid.

16 I do further certify that this deposition was
taken
17 at the time and place in the foregoing caption
specified.

18 I do further certify that I am not a relative,
19 employee or attorney of either party, or otherwise
20 interested in the event of this action.

21 IN WITNESS WHEREOF, I have hereunto set my hand
and
22 affixed my seal of office at Cleveland, Ohio, on this
23 21st day of February, 2002.
24

Public 25 Michelle R. Hordinski, RPR and Notary
in and for the State of Ohio
My Commission expires January 22, 2006

