

State of Ohio,)
) SS:
 County of Cuyahoga.)

- - -

IN THE COURT OF COMMON PLEAS

- - -

Damien Spearman, Jr., etc.,)	
et al.,)	
)	
Plaintiffs,)	
)	Case No. 314977
vs.)	
)	
James Izanec, M.D., et al.,)	
)	
Defendants.)	

- - -

DEPOSITION OF JAMES J. IZANEC, M.D.

FRIDAY, FEBRUARY 14, 1997

- - -

The deposition of James J. Izanec, M.D., a Defendant herein, called by the Plaintiffs for examination under the Ohio Rules of Civil Procedure, taken before me, Ivy J. Gantverg, Registered Professional Reporter and Notary Public in and for the State of Ohio, by agreement of counsel and without further notice or other legal formalities, at the offices of Reminger & Reminger, 113 St. Clair Building, Cleveland, Ohio, commencing at 9:05 a.m., on the day and date above set forth.

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Michael F. Becker, Esq.

Suzanne Veverka, R.N.

4 Becker & Mishkind

134 Middle Avenue

5 Elyria, Ohio 44035

6 On behalf of the Defendants:

7 Gary H. Goldwasser, Esq.

Reminger & Reminger

8 113 St. Clair Building

Cleveland, Ohio 44114

9

Also Present:

10

Genevieve McCaslin, C.N.M.

11

1 JAMES J. IZANEC, M.D.

2 a defendant herein, called by the plaintiffs for
3 examination under the Rules, having been first duly
4 sworn, as hereinafter certified, was deposed and
5 said as follows:

6 CROSS EXAMINATION

7 BY MR. BECKER:

8 Q. Good morning. Would you tell me your full
9 name, please?

10 A. James J. Izanec.

11 Q. What is your home address, sir?

12 A. 28299 Fairmount, that is F-A-I-R-M-O-U-N-T,
13 Boulevard, Pepper Pike, Ohio, 44124.

14 Q. What is your business address?

15 A. 12000 McCracken, M-c-C-R-A-C-K-E-N, Road,
16 Garfield Heights.

17 Q. What is the name of your professional group?

18 A. South Suburban Women's Center.

19 Q. Are you an owner of that professional group?

20 A. Yes, I am.

21 Q. Who are the other owners of that professional
22 group?

23 A. John J. Farinacci. He spells his last name

24 F-A-R-I-N-A-C-C-I.

25 Q. Anybody else?

*** Notes ***

1 A. No.

2 Q. Have you ever had your deposition taken before?

3 A. Yes.

4 Q. I just want to review some things, some
5 ground rules, before we begin.6 This is a question and answer session under
7 oath. It is important you understand the question
8 that I ask you.9 If the question is inartfully phrased or
10 doesn't make any sense, you tell me and I will
11 attempt to rephrase or restate the question, fair
12 enough?

13 A. Yes.

14 Q. However, unless you indicate otherwise to me,
15 I am going to assume that you have fully understood
16 the question, okay?

17 A. Yes.

18 Q. It is also important that you answer
19 verbally, because it is difficult for Ivy to pick up
20 a head nod, all right?

21 A. Yes.

22 Q. Tell me what you have reviewed in preparation
23 for this deposition?24 A. I have reviewed Ms. McClain's chart, the
25 admission note of Dr. Stork, and the ACOG Technical1 Bulletins for -- I don't recall the titles, it is
2 the bulletin which concerns infection in obstetrics
3 and gynecology, and the bulletin which has actually
4 since been retracted on Group B Strep.

5 Q. It has been retracted?

6 A. It has been retracted.

7 Q. When was it retracted?

8 A. I don't recall the date, sir.

9 Q. Within the last few months, very recently?

10 A. Sometime during 1996, sir.

11 Q. I didn't know that.

12 Go ahead.

13 A. And the ACOG committee opinion on treating
14 Group B Strep in pregnant women prophylactically.

15 Q. Anything else?

16 A. I can't recall that. No.

17 Q. Why did you look at the ACOG Technical
18 Bulletins and the committee opinions?19 A. Because those are summaries of the current
20 opinions of some of the learned people in the field
21 of obstetrics and gynecology.22 MR. BECKER: Have you provided me a
23 vitae on this doctor?

24 MR. GOLDWASSER: I don't know.

25 MR. BECKER: He may have, and I

*** Notes ***

1 probably --
 2 THE WITNESS: Sure, sure.
 3 MR. GOLDWASSER: I have one with me,
 4 but I thought I gave it to you with answers
 5 to interrogatories. Perhaps not.
 6 MR. BECKER: Probably. It seems I
 7 consistently do this.
 8 MR. GOLDWASSER: I have one here, but
 9 it is the only copy I have. You can use it
 10 for today's depo.
 11 MR. BECKER: Okay, fine.
 12 (Thereupon, the document was handed to
 13 Mr. Becker.)
 14 MR. BECKER: Do you mind if I mark it
 15 as an exhibit?
 16 MR. GOLDWASSER: I don't mind.
 17 We will make a copy of it before you
 18 leave.
 19 MR. BECKER: Mark that, Ivy, please.
 20 (Thereupon, Plaintiffs' Exhibit 1
 21 (Izanec) was marked for identification.)
 22 BY MR. BECKER:
 23 Q. Doctor, I am handing you now what has been
 24 marked as Exhibit 1, Izanec Exhibit 1. Would you
 25 take a look at that first and tell me what it is?

1 A. It is my curriculum vitae.
 2 Q. And is it current?
 3 A. Yes, it is.
 4 Q. Doctor, on this vitae, it reflects a
 5 certificate for "practice of healing heart." What
 6 does that mean?
 7 A. That is --
 8 Q. Is that the same thing as a medical license?
 9 A. Yes, sir, it is. That is the --
 10 Q. For the District of Columbia?
 11 A. -- description for the District of Columbia,
 12 yes.
 13 Q. You are currently associated with Case
 14 Western Reserve University as a clinical instructor,
 15 correct?
 16 A. Sir, that is incorrect. I have not been an
 17 instructor for about two years.
 18 Q. Okay.
 19 When you were an instructor, what were you
 20 doing?
 21 A. I was teaching medical students in the family
 22 clinic one afternoon a week.
 23 Q. What would you be teaching them in the family
 24 clinic?
 25 A. We were seeing women who were pregnant, and

*** Notes ***

1 they were coming in for their ante partum visits,
 2 and I was supervising.
 3 Q. Now, what was the reason you stopped doing
 4 this?
 5 A. Because I had other time demands.
 6 Q. You are Board certified in OB/GYN?
 7 A. Yes, sir.
 8 Q. Did you pass the examination the first time
 9 you took it?
 10 A. Yes, sir.
 11 Q. Now, currently, how many other employees are
 12 there of your professional group?
 13 A. I don't understand the breadth of the
 14 question. Does that include clerical people?
 15 Q. I am sorry, poor question. I will ask it
 16 again.
 17 I want to know, besides your partner, who you
 18 have identified already, are there other physicians
 19 that work for the professional group?
 20 A. Yes.
 21 Q. Who are they?
 22 A. William Grossman.
 23 Q. Anyone else?
 24 A. No.
 25 Q. Tell me who the midwives are that work for

1 the group?
 2 A. There is Genevieve McCaslin, and Judy Kreye.
 3 That is spelled K-R-E-Y-E.
 4 Q. At the time that this child was born, were
 5 there any other employees of the group?
 6 A. No.
 7 Q. Back in May of 1995, how was your health?
 8 A. Fine.
 9 Q. You weren't under any kind of a disability,
 10 either physical or mental, back in May of 1995?
 11 A. No, sir.
 12 Q. Which textbooks in fetal monitoring would you
 13 consider to be the most reliable in obstetrics
 14 today?
 15 A. I don't have an opinion about that, sir.
 16 Q. Are there any textbooks in obstetrics that
 17 you would consider authoritative?
 18 A. How would you define authoritative?
 19 Q. That you would consider the best, absolutely
 20 reliable, that there is a consensus among your peers
 21 that it is considered the best.
 22 A. I don't believe that there is any textbook
 23 that would have a majority.
 24 Q. Okay.
 25 How about journals, medical journals, are

*** Notes ***

<p style="text-align: right;">Page 10</p> <p>1 there any journals that you would consider reliable, 2 that you would turn to first in the subject of fetal 3 monitoring? 4 A. I am having a problem with your question 5 because of the word, reliable. 6 Q. Okay, I will move on, Doctor. 7 You have indicated you have had your 8 deposition taken before. You have had other claims 9 presented against you before? 10 A. Yes. 11 Q. I want to talk about those claims. I am 12 interested not so much in the identity of those 13 claims right now, but I am interested in the subject 14 matter, medical subject matter. 15 Can you just kind of highlight the claims 16 over your career for me? 17 MR. GOLDWASSER: Objection. 18 You may answer. 19 A. Well, there has been one. And that involved 20 a child which was found to have a cerebral infarct 21 at thirteen months of age, and was found to have 22 weakness -- 23 Q. Hemiplegia? 24 A. -- on its right side. 25 Sir, the description of the child's problem,</p>	<p style="text-align: right;">Page 11</p> <p>1 to me, is so vaguely defined, that I am giving you 2 my most precise answer. 3 Q. Is the allegation that this came about via 4 your management of labor and delivery? 5 A. Yes. 6 Q. Is that case still pending currently? 7 A. Yes. 8 Q. Do you know the name of the plaintiff's 9 attorney? 10 A. William Novak. 11 His first name is William? 12 MR. GOLDWASSER: Yes. 13 Q. Did you use any instruments in that delivery -- 14 MR. GOLDWASSER: He is not going to 15 answer any questions as relates to the 16 substance of that case. He is instructed by 17 me that he will not discuss that case. The 18 case is pending. We are not going to have 19 two trials in one here. 20 Q. (Continuing) Other than that case, any other 21 cases? 22 A. No. 23 Q. Through your whole career? 24 A. You stated that I have given depositions for? 25 No.</p>
<p style="text-align: center;">*** Notes ***</p>	
<p style="text-align: right;">Page 12</p> <p>1 Q. Any cases where you have not given deposition 2 for, maybe the case was most probably dismissed 3 then? 4 A. Yes. 5 Q. So we have covered the cases that have been 6 filed against you, where there has been a 7 deposition? 8 A. Yes, sir. 9 Q. Now, tell me a little bit about Nurse Midwife 10 McCaslin, how long has she been with your group? 11 A. Three years. 12 Q. Where had she been prior to coming on board 13 with your professional corporation? 14 A. She was working with Dr. Stephen Luczek, 15 L-U-C-Z-E-K. 16 Q. Was she the first midwife you hired -- 17 A. Yes. 18 Q. -- for your professional group? 19 A. Yes, sir. 20 Q. And what is the philosophy within your office 21 as to how you best utilize midwives, how you want to 22 utilize midwives within your office? 23 A. We are using them within their scope of 24 expertise, scope of training, and they are taking 25 care of their own patients who identify them as</p>	<p style="text-align: right;">Page 13</p> <p>1 their primary care giver, and they will also assist 2 us with our own pregnant patients. 3 Q. Are they utilized to manage actual labor and 4 deliveries of your patients at various hospitals, 5 assuming there is no high risk factor or 6 complication? 7 A. Yes. 8 Q. Can you give me some examples of a 9 complication or risk factor, based on your rules 10 within your office, or the rules within the 11 hospital, as to when you have to become actively 12 involved in the management of the particular labor 13 and delivery? 14 MR. GOLDWASSER: Rather than a 15 midwife. 16 A. Sure. 17 If the woman is in premature labor, we would 18 be actively involved. If the child is presenting in 19 a malpresentation, such as a breech presentation, we 20 would be involved. 21 Q. Okay. 22 A. If there were problems such as pregnancy 23 induced hypertension, we would be involved. 24 We would also co-manage problems. If there 25 was meconium stained fluid, you know, that, in and</p>
<p style="text-align: center;">*** Notes ***</p>	

1 of itself, there would certainly be the
 2 co-management.
 3 Q. What about a history of polyhydramnios, would
 4 that kick in an automatic co-management situation?
 5 A. That would depend on the severity of the
 6 polyhydramnios.
 7 Q. Are there different levels of severity,
 8 either mild, moderate, or severe?
 9 I am not familiar with --
 10 A. It is not graded using those terms --
 11 Q. Right.
 12 A. -- but some people will have three, four,
 13 five liters of fluid within their amniotic sac.
 14 That will present many problems. But for smaller
 15 amounts, no.
 16 Q. What about if fetal distress develops during
 17 the course of labor, does that demand co-management?
 18 A. Yes, sir.
 19 Q. Would you kind of define for me what
 20 co-management means, if you are not in the hospital,
 21 and fetal distress develops, what your
 22 responsibility is?
 23 A. If fetal distress develops, then if we are
 24 not in the hospital, and the midwife was to contact
 25 us --

1 Q. Okay.
 2 A. -- we would then come up with a plan of
 3 action, and then we would follow through with that
 4 plan.
 5 Q. Would that be reduced to writing somewhere,
 6 the plan of action?
 7 A. The specific plan of action may or may not be
 8 reduced to writing under the caption, this is the
 9 plan of action. But as the activities occur, that
 10 should all be very clear.
 11 Q. Jumping ahead to this case, the McClain case,
 12 was there ever a plan of action developed between
 13 you and Midwife McCaslin?
 14 A. Yes.
 15 Q. When was that developed, what time, what day?
 16 A. It was developed on the -- we began talking
 17 about it at 5:30 on May the 27th, on the day that
 18 the baby was born.
 19 Q. What do you recall about the terms of that
 20 plan of action, the details of it?
 21 A. This case changed over time, changed over
 22 time. And so we were constantly updating, taking
 23 into account the new developments as they were
 24 happening.
 25 May I look at the chart?

*** Notes ***

1 MR. GOLDWASSER: Of course,
 2 absolutely.
 3 Q. Listen, Doctor. At any time during the
 4 course of this deposition, you are more than free to
 5 look at the chart before responding to any question.
 6 I want you to feel free to do that.
 7 A. Yes, yes.
 8 I believe that I remember these details, but
 9 I don't want to --
 10 MR. GOLDWASSER: Doctor, you don't
 11 have to give excuses. Just look at the
 12 record and answer the questions as best you
 13 can.
 14 A. (Continuing) At 5:30 on the 27th, I was made
 15 aware of the mother's temperature, Ms. McClain's
 16 temperature elevation, and also of the fetal
 17 monitoring patterns. And --
 18 Q. Do you recall -- excuse me, I didn't mean to
 19 interrupt you -- specifically what you were told by
 20 this midwife relative to the fetal monitoring
 21 patterns?
 22 A. No, I don't.
 23 Q. Go ahead.
 24 A. And at that time, she was also seven to eight
 25 centimeters dilated, and the thought was that at

1 that time, you know, she would continue to be
 2 followed very carefully, the pattern assessing the
 3 well being of the fetus, and the hope was that she
 4 would be delivering within a few hours. So then
 5 that is what we were doing at that time.
 6 Q. So the plan at 5:30 that was developed
 7 consisted of continued monitoring by the midwife
 8 without your presence, correct?
 9 A. Yes.
 10 Q. And since the mom had reached six or seven
 11 centimeters, that you were hopeful that the baby
 12 would be delivered within a few hours?
 13 MR. GOLDWASSER: Seven to eight, I
 14 believe.
 15 Q. (Continuing) I am sorry, seven to eight
 16 centimeters.
 17 A. Yes.
 18 Q. That was, in essence, the plan?
 19 A. And then once the baby would be born, then
 20 the baby would be assessed, because there was the
 21 possibility that infection was developing. And then
 22 the baby would be cultured -- assessed, cultured,
 23 and if need be, treated with antibiotics at that
 24 time.
 25 Q. Based on the data you received at 5:30, did

*** Notes ***

1 the concept of chorioamnionitis come in within your
 2 differential?
 3 A. Yes.
 4 Q. Was it within the midwife's differential at
 5 that time, as well?
 6 MR. GOLDWASSER: You only know if she
 7 told you.
 8 Q. (Continuing) Just what she told you.
 9 A. You know, that, I don't recall.
 10 Q. Back in May of '95, what was your practice,
 11 Doctor, relative to utilizing prophylactic
 12 antibiotics?
 13 A. We did not use that at that time. For --
 14 well, pardon me. We used that if there was a
 15 history -- pardon me -- if the person was in
 16 premature labor, if she had a history of having had
 17 a prior pregnancy where the child was infected with
 18 Group B Strep, if she had a Group B Strep, a urinary
 19 tract infection during that pregnancy, and also if
 20 there was a maternal temperature elevation early in
 21 the labor, if we anticipated that delivery would not
 22 be for many, many hours.
 23 Q. And I am gathering, then, because you
 24 anticipated delivery within a few hours, and because
 25 she didn't have those risk factors that you have

1 just enumerated --
 2 A. Yes.
 3 Q. -- you felt that setting up IV antibiotics,
 4 at least prophylactically, was not indicated based
 5 on your practice?
 6 (Thereupon, a discussion was had off
 7 the record.)
 8 A. Would you mind repeating that question again?
 9 MR. GOLDWASSER: Ivy, please read it
 10 back.
 11 (Record read.)
 12 A. (Continuing) Yes.
 13 Q. Now, jumping ahead to this case again,
 14 Doctor, my recollection is at 5:30, you told Nurse
 15 McCaslin that you were coming in.
 16 A. Yes.
 17 Q. When were you planning on coming in?
 18 A. During that next hour.
 19 Q. Did you tell her that you would be there
 20 within an hour?
 21 A. I don't recall the time frame that I would
 22 have mentioned to her.
 23 Q. Did you actually come in within the hour?
 24 A. No.
 25 Q. Why not?

*** Notes ***

1 A. I don't know, sir.
 2 Q. When did you actually arrive to assess the
 3 patient?
 4 A. 7:30.
 5 Q. Between that phone call by Nurse McCaslin to
 6 you at 5:30, and the time you arrived at 7:30, did
 7 you have any other contact with Nurse McCaslin?
 8 A. I don't recall.
 9 Q. Do you remember any phone call from her or
 10 someone on her behalf looking for you?
 11 A. No.
 12 Q. Do you recall contacting the hospital or
 13 Nurse McCaslin during that period of time, and
 14 giving a message to someone to get to Nurse McCaslin
 15 that you would not be there within the hour?
 16 A. No.
 17 Q. You were home when you were contacted at
 18 5:30?
 19 A. I don't recall.
 20 Q. Maybe we should review, Doctor, in essence,
 21 what you do recall about this particular night and
 22 morning delivery, labor and delivery.
 23 I am interested to know what your independent
 24 recollection is. If you think about this case, what
 25 comes to mind?

1 MR. GOLDWASSER: I am going to object
 2 to that, Mike, for the following reasons, and
 3 then we can proceed.
 4 Number one, the doctor has spent a
 5 good deal of time reviewing this chart. I
 6 think it would be next to impossible for
 7 anybody to sort out what he remembers from
 8 reviewing the chart, and independent memory.
 9 Secondly is that it is such a broad
 10 question, contrary to the rules that we have
 11 a question and then an answer.
 12 I certainly would permit him to talk
 13 about what he recalls by independent memory,
 14 but I am not sure he can do it in the context
 15 that you put the question.
 16 Q. (Continuing) All right, with that objection
 17 or caveat, do the best you can, Doctor.
 18 A. I do remember seeing Ms. McClain, and
 19 Ms. McCaslin, at about 7:30. And I recall vaguely,
 20 you know, discussing the case, reviewing the fetal
 21 monitoring strip at that time.
 22 Q. Okay.
 23 A. You asked about the 7:30 time?
 24 Q. Well, I asked about that day.
 25 A. Oh.

*** Notes ***

1 Q. That labor, that delivery.
 2 A. Okay.
 3 After that, I went to the operating room at
 4 Marymount, and we were in surgery on this one case --
 5 MR. GOLDWASSER: Remember, this is
 6 independent memory, not what you have learned
 7 in the last week, okay?
 8 THE WITNESS: Okay.
 9 MR. GOLDWASSER: That is why I
 10 objected to the question. You have to be
 11 careful. I don't want you to start talking
 12 about what you have learned since you started
 13 preparing for this case.
 14 THE WITNESS: Okay.
 15 A. (Continuing) Well, then, there is this time,
 16 and then I recall coming back up to the labor floor
 17 at about 11:00, 11:30, I don't recall the precise
 18 time. We reviewed the monitor strip again, and the
 19 baby's head was crowning, the baby was going to be
 20 born very soon after that.
 21 Q. Doctor, I am gathering in the answer you have
 22 just given me, that you had another case that
 23 morning, you have learned?
 24 A. Yes, yes.
 25 Q. What kind of case was it?

1 A. It was a gynecologic operative case, a woman
 2 with pelvic pain, with ovarian cysts.
 3 Q. What time did that surgery start?
 4 A. 8:05.
 5 Q. And what time did that surgery complete?
 6 A. 10:20.
 7 Q. It was an elective surgery?
 8 A. It is what we call urgent.
 9 Q. Could it have been postponed a few hours?
 10 A. Yes.
 11 Q. Did the patient come in -- the patient that
 12 you operated on for this GYN problem, was she in the
 13 hospital, or did she come in for the surgery that
 14 day?
 15 A. She came in for the surgery that day.
 16 Q. If you are in surgery, is your practice such
 17 that your partner takes over management of labor and
 18 delivery while you are in surgery?
 19 A. If it is required, yes.
 20 Q. What do you mean, if it is required?
 21 I mean, if there is a need for an
 22 obstetrician, and you are in surgery, is your
 23 partner then available?
 24 A. Yes.
 25 Q. So you never schedule surgery for both of

*** Notes ***

1 you, your office never schedules surgery for both of
 2 you at the same time; is that fair?
 3 A. Yes.
 4 Q. And while you were in surgery, do you recall
 5 receiving any type of contact from Midwife McCaslin
 6 as to the status of this case?
 7 A. No, I don't.
 8 Q. Would it be fair to say that you completed
 9 this GYN surgery, and then went back up to the floor
 10 to check on your labor and delivery patients?
 11 A. Yes.
 12 Q. Doctor, I want to define some -- I want you
 13 to define some terms for me that we are going to be
 14 using throughout the balance of this deposition.
 15 Some people, obstetrical people, use the term
 16 fetal distress, some people use nonreassuring
 17 patterns. What is your practice?
 18 MR. GOLDWASSER: In the context of
 19 what?
 20 Q. (Continuing) In the context of fetal heart
 21 monitoring.
 22 Do you use the --
 23 A. I use both terms, sir.
 24 Q. Tell me what fetal distress means to you, and
 25 distinguish that, then, from a nonreassuring

1 pattern?
 2 A. Fetal distress means that the pattern
 3 indicates that the fetus is not compensating
 4 properly to the stresses of labor. And
 5 nonreassuring is a -- it is more of a vague term, or
 6 it is a vaguely defined term that is a pattern which
 7 is not normal, which may be showing signs of fetal
 8 distress which is unclear at that time.
 9 Q. Can you give me some examples of fetal
 10 distress, under fetal heart tracing patterns, can
 11 you give me some examples?
 12 A. Oh, sure. A fetal bradycardia, a heart rate
 13 in the 80s or 90s, which is continuing that way for
 14 fifteen minutes, thirty minutes.
 15 Q. Do you define bradycardia as anything less
 16 than 120?
 17 A. Yes, sir.
 18 Q. Go ahead, give me some more examples?
 19 A. A fetal tachycardia, where the heart rate is
 20 consistently above 180, again for fifteen minutes,
 21 thirty minutes.
 22 Q. Some people -- excuse me.
 23 Some people define tachycardia as 160 and
 24 above. Do you disagree --
 25 MR. GOLDWASSER: 116?

*** Notes ***

1 MR. BECKER: 160 and above.
 2 Q. (Continuing) Do you disagree with that
 3 concept?
 4 A. I was talking about fetal distress. Fetal
 5 tachycardia, strictly speaking tachycardia, 160,
 6 sure.
 7 Q. Okay.
 8 A. Sure, yes.
 9 Q. And we have talked about bradycardia,
 10 tachycardia. Let's talk a little bit about
 11 deceleration patterns.
 12 Can you give me some examples of deceleration
 13 patterns that reflect fetal distress?
 14 A. Persistent late decelerations, which again
 15 are going on for thirty minutes, sixty minutes,
 16 which is not responding to --
 17 Q. Intrauterine resuscitation?
 18 A. -- therapeutic methods.
 19 I don't know the term, intrauterine
 20 resuscitation.
 21 Q. When I use that term, I am referring to the
 22 change in position of the mom, administration of
 23 oxygen, pushing the fluids, that kind of thing.
 24 A. Okay.
 25 Q. We have talked about late decelerations. Any

1 other type of deceleration pattern that you would
 2 consider fetal distress?
 3 A. Frequently, sir, the patterns have to do more
 4 with the entire episode that is happening.
 5 Q. You have got to look at the big picture,
 6 right?
 7 A. The big picture.
 8 Q. All right, let's talk about variability.
 9 Can that reflect --
 10 MR. GOLDWASSER: You are talking about
 11 beat to beat variability?
 12 Q. (Continuing) Right, beat to beat variability.
 13 Can that reflect fetal unwell being or fetal
 14 distress?
 15 A. I think that usually will reflect evidence of
 16 fetal stress, not necessarily distress.
 17 Q. What do you mean -- would you distinguish
 18 fetal stress from fetal distress?
 19 A. Fetal stress is the fetus responding to the
 20 physical stressors of labor, the change in blood
 21 flow through the uterus with contractions, the
 22 changes to the fetus, to its autonomic nervous
 23 system, with the contractions, with head
 24 compression.
 25 You know, there is maternal hypertension.

*** Notes ***

1 That again will change blood flow. If there is a
 2 maternal temperature elevation, that will again
 3 change the circulation.
 4 Those types of things will stress the baby.
 5 Q. You don't feel that a decrease in variability
 6 can be an indicator of fetal distress?
 7 A. It can be, but in and of itself --
 8 Q. Okay.
 9 A. -- usually not, usually not.
 10 Q. Some people believe that variability
 11 reflects -- indirectly reflects the brain status of
 12 the fetus. Do you believe that?
 13 A. It is certainly controlled by the autonomic
 14 nervous system, yes.
 15 Q. That is why I asked, when you said that.
 16 Some people believe that a decrease in
 17 variability can be an indication that the fetus is
 18 becoming acidotic. Do you agree with that concept?
 19 A. That sometimes occurs, yes.
 20 Q. And of course, if the fetus is becoming
 21 acidotic, that is an indication that there is some
 22 oxygen deprivation going on within the labor
 23 process, correct?
 24 A. That would be one cause for that, yes.
 25 Q. Can you give me some examples of other

1 causes, besides oxygen deprivation, to lead to
 2 acidosis in a fetus?
 3 A. The mechanics of the blood flow through the
 4 uterus and then through the placenta will involve
 5 the uptake of oxygen by the fetus and the clearing
 6 of CO₂ by the fetus going the other way. So
 7 again, depending on all of those mechanisms.
 8 Q. Okay.
 9 I want to explore your recollection of
 10 conversations, Doctor, with Nurse McCaslin,
 11 Midwife Nurse McCaslin, throughout the labor and
 12 delivery.
 13 MR. GOLDWASSER: Are we talking about
 14 now independent, again, of the chart?
 15 Q. (Continuing) Yes, anything that is not
 16 reflected in the chart.
 17 Do you have any other recollection of
 18 conversations with her?
 19 A. No, sir.
 20 Q. Do you have any recollections of talking to
 21 her after this child was born?
 22 A. We were both very surprised that the child
 23 was as sick as it actually was, very surprised.
 24 Q. Would it be fair for me to conclude that
 25 neither you nor Nurse McCaslin, to your knowledge,

*** Notes ***

1 anticipated a depressed newborn?
 2 A. Yes.
 3 Q. And had you anticipated a depressed newborn,
 4 you would have had respiratory therapy and/or a
 5 pediatrician or the Code Pink team in attendance?
 6 A. Oh, yes.
 7 Q. That would be the standard of care, if there
 8 was reason to anticipate --
 9 A. (Witness nods).
 10 Q. -- you are nodding your head -- if there is
 11 reason to anticipate a depressed newborn, the
 12 standard of care requires of you, as well as Nurse
 13 McCaslin, to have a respiratory team in at the time
 14 of delivery, correct?
 15 A. To have the neonatologist present, yes.
 16 Q. You have just indicated that you and Nurse
 17 McCaslin were both very surprised at the condition
 18 of this child at the moment of birth; is that
 19 accurate?
 20 A. Yes, sir.
 21 Q. After this child was born and was
 22 resuscitated and taken away to University Hospital,
 23 did you have an opportunity to sit down with Nurse
 24 McCaslin and jointly review the fetal monitoring
 25 strips again?

*** Notes ***

1 A. That, I don't recall, sir.
 2 Q. Would that be something you likely would have
 3 done?
 4 A. Yes, yes.
 5 Q. And as you sit here, I appreciate that she is
 6 your employee, but I must ask you, do you have any
 7 criticism of the way -- in retrospect, in the way
 8 that Nurse McCaslin managed this particular labor?
 9 A. No.
 10 Q. Prenatally, did you see this particular mom?
 11 MR. GOLDWASSER: Here are the prenatal
 12 records.
 13 (Thereupon, the document was handed to
 14 the witness.)
 15 A. I did not.
 16 Q. Was your partner in town at the time of
 17 delivery?
 18 A. I don't know that, sir.
 19 Q. I am gathering that you were at least on call
 20 for the group --
 21 A. Yes.
 22 Q. -- during that night --
 23 A. Yes.
 24 Q. -- as well as the next day?
 25 A. Yes.

1 Q. Doctor, did you generate any notes as a
 2 result of this particular labor, as a result of any
 3 contacts with Nurse McCaslin via phone or in person
 4 as a result of this particular delivery, that are
 5 not contained in the hospital chart?
 6 A. No, sir.
 7 Q. Do you have a practice, Doctor, as to your
 8 calls at home, that you write down who called you,
 9 and when you were called?
 10 A. No, sir, I don't.
 11 Q. Would it be fair for me to conclude that the
 12 first time you became aware that this patient was
 13 admitted to Marymount Hospital was at 5:30 on the
 14 27th of May?
 15 A. Yes, sir.
 16 Q. There is not a practice in your office,
 17 between the obstetricians and the midwives, that if
 18 a patient comes in, to at least notify you that one
 19 of your patients is in labor?
 20 A. The practice of the labor floor is to notify
 21 the first person on call. And that person would
 22 have been Ms. McCaslin.
 23 MR. GOLDWASSER: The facts are,
 24 Dr. Farinacci saw this patient about an hour
 25 and a half after she was admitted. So it

*** Notes ***

1 wasn't like the group didn't know.
 2 MR. BECKER: Right.
 3 A. (Continuing) Yes, well --
 4 MR. GOLDWASSER: That is enough.
 5 A. -- of course --
 6 MR. GOLDWASSER: Stop.
 7 Q. Did you have an opportunity to look at the
 8 strips from the NST performed on May 26th?
 9 A. Yes.
 10 Q. Do you feel they were assuring or reassuring,
 11 or reactive or nonreactive?
 12 MR. GOLDWASSER: Take your time, if
 13 you want to pull them out of there.
 14 A. This is a reactive non-stress test, sir.
 15 Q. Okay.
 16 You indicated that you actually first saw the
 17 patient at approximately 7:30 in the morning,
 18 correct?
 19 A. Yes, sir.
 20 Q. And either based on your memory, or based on
 21 the chart, let's review each and every thing you did
 22 at 7:30.
 23 And again, if you want to look at the chart
 24 before responding, you are free to do so.
 25 A. Would you mind reading the question one more

1 time, please?
 2 (Record read.)
 3 A. (Continuing) Are you going to ask me what I
 4 did, or was that your question?
 5 Q. Yes, that was my question, sir. I want to
 6 know what you did.
 7 Did you examine the patient, did you look at
 8 the strips, did you order any tests? I want to
 9 cover each and every thing you did for this patient
 10 at 7:30.
 11 A. I examined the patient.
 12 Q. All right, what did that entail?
 13 A. I looked at her, I reviewed her blood
 14 pressure, temperature.
 15 I do not know if I did the internal exam
 16 myself, or if Ms. McCaslin did that. But you know,
 17 these are the physical findings types of things.
 18 I reviewed the fetal monitoring tracing up to
 19 that point.
 20 Q. All right, let me ask you, Doctor:
 21 When you say, you reviewed the fetal
 22 monitoring tracings, would you have gone back five
 23 or ten minutes and looked at them, or would you have
 24 gone back through the whole night and looked at
 25 them?

*** Notes ***

1 A. I don't recall the extent of that review,
 2 sir.
 3 Q. But in practical terms, what is your
 4 practice, what would you normally do?
 5 A. I would have gone through all of them, you
 6 know, going over all that appeared to be pertinent
 7 at that time.
 8 Q. Do you think you would have gone back at
 9 least a half an hour or an hour to look at the
 10 strips?
 11 A. Oh, yes. Yes.
 12 Q. And was there anything concerning or alarming
 13 about the strips? Let's start from 6:30, then, and
 14 go forward.
 15 A. Can I look at the strips?
 16 Q. Yes.
 17 In fact, I am going to have you start at the
 18 strips at 6:30, and go forward, and tell me if you
 19 see anything that is concerning or alarming to you?
 20 MR. GOLDWASSER: I will find it for
 21 you.
 22 THE WITNESS: Okay.
 23 MR. GOLDWASSER: You want to start at
 24 6:30?
 25 MR. BECKER: Right.

1 In fact, why don't you mark that.
 2 (Thereupon, Plaintiffs' Exhibit 2
 3 (Izanec) was marked for identification.)
 4 MR. GOLDWASSER: Give him the number.
 5 A. It is 11711, is the sheet number, 11711.
 6 Q. Let's look at 11711. What are your comments
 7 on the long term variability of that strip, if any?
 8 A. They are decreased.
 9 Q. What significance, if any, is there from
 10 decreased long term variability?
 11 A. Well, that the baby may be stressed, that the
 12 baby may be going through a deep sleep pattern.
 13 Q. Okay.
 14 A. It is something which is, as we said before,
 15 "worrisome," something which you cannot just say,
 16 okay, fine, I will forget about this.
 17 Q. All right.
 18 On 11712, Doctor, I see a reference to you.
 19 It says, epidural redosed by Doctor --
 20 A. This is Dr. Iarussi, he is the
 21 anesthesiologist.
 22 MR. GOLDWASSER: So that is not
 23 Dr. Izanec.
 24 Q. Excuse me, okay.
 25 Any evidence of -- why don't you proceed and

*** Notes ***

1 go forward from 11712, and tell me, for example,
 2 11713, do you see any decels there?
 3 A. No, sir, I don't.
 4 Q. Okay.
 5 Who is Doctor, is it Fasi?
 6 A. Fabi, F-A-B-I.
 7 Q. She is the house doctor?
 8 A. Yes, sir.
 9 Q. Is she trained in obstetrics?
 10 A. Yes, she is.
 11 Q. Go ahead, Doctor, just go forward with those
 12 strips and tell me when you see anything that --
 13 MR. GOLDWASSER: That what, raises a
 14 concern?
 15 Q. -- raises a concern.
 16 A. In 11714, the contractions are coupling.
 17 There appears to be a lot of fetal movement during
 18 this time.
 19 MR. GOLDWASSER: What is a concern?
 20 Do you understand the question?
 21 THE WITNESS: Yes, yes.
 22 A. (Continuing) And with that --
 23 MR. GOLDWASSER: Go ahead.
 24 A. -- there is a change in fetal heart rate.
 25 And it is unclear from this pattern whether we are

1 seeing the development of a bradycardia, possibly
 2 some late decelerations, possibly some variable
 3 decelerations. That is unclear.
 4 Q. 11715?
 5 A. And then as the contractions started to space
 6 out again, this bradycardia, variability, late
 7 deceleration, variable deceleration, whatever,
 8 straightens out, and then --
 9 Q. Do you see a late decel on 11715?
 10 A. Sir, all of these decelerations are so
 11 variable that I would be inclined to call them
 12 variable.
 13 Which one do you think is a late
 14 deceleration?
 15 Q. I am just asking you, Doctor.
 16 You don't see anything that clearly is a
 17 late, on 11715?
 18 A. No, sir.
 19 Q. Go ahead.
 20 A. No, sir.
 21 And then again, as these contractions are
 22 coming in a more reasonable fashion, the heart rate
 23 has returned.
 24 Q. What is the baseline at that point?
 25 A. I believe it is in the 160s.

*** Notes ***

1 Q. Okay.
 2 A. So now I am up to 11717.
 3 Q. Okay.
 4 A. Now we are at 11719, we talked about that.
 5 She is eight centimeters, so now we know where we
 6 are. Her temperature is as written there, baby
 7 still active, patterns very similar.
 8 MR. GOLDWASSER: I just want to
 9 emphasize to you, he only wants to know that
 10 which is concerning.
 11 A. (Continuing) Okay, so then that is that.
 12 Q. I am sorry, could you repeat that answer
 13 again?
 14 A. Oh, at 7:30, the pattern looks stable.
 15 Now, do you want to go further?
 16 Q. Well, you have indicated that what you did
 17 when you got there at 7:30 is you looked at the
 18 strips that had been generated prior to your
 19 arriving, correct?
 20 A. Yes.
 21 Q. And you did an examination. What else did
 22 you do for the patient at that time?
 23 A. Okay, we ordered a CBC.
 24 Q. And the reason?
 25 A. Was to determine the mother's response to the

1 temperature elevation which she has.
 2 Q. Can you be more specific, what were you
 3 looking for in the CBC?
 4 A. For signs of an elevation in the mother's
 5 white count which would signify infection.
 6 Q. And was that ordered on a stat basis?
 7 A. Yes.
 8 Q. When did you have the results of that?
 9 A. Those came back to the floor at about 9:30.
 10 Q. Is that normally how long it takes at
 11 Marymount Hospital to do a stat CBC?
 12 A. I don't know what the normal time would be,
 13 sir.
 14 Q. Well, it seems to me, Doctor, that taking two
 15 hours for a stat CBC is kind of unusual. And I am
 16 asking you if that is routine for that hospital?
 17 MR. GOLDWASSER: Answer only what you
 18 know. If you know the answer to that
 19 question, you may. I don't want to you
 20 guess.
 21 A. To have the results sooner than two hours for
 22 stat is preferable.
 23 MR. GOLDWASSER: That is not what he
 24 is asking.
 25 Read the question back, please.

*** Notes ***

1 (Record read.)
 2 A. (Continuing) I don't know.
 3 Q. Well, how long have you been practicing out
 4 of that hospital, how many years prior to this
 5 delivery had you been practicing at this hospital?
 6 A. Seventeen.
 7 Q. Seventeen?
 8 A. Yes.
 9 Q. Can you tell me, based on your experience
 10 with patients, and ordering a stat CBC, how long it
 11 normally takes to get that data back to you?
 12 A. Thirty to forty-five minutes.
 13 Q. All right, so you came at 7:30, you ordered a
 14 CBC, you did an examination, you looked at the
 15 strips, you went back at some period of time. What
 16 else did you do for the patient?
 17 A. I discussed with Genny, with Ms. McCaslin,
 18 that it would be -- you know, that we were
 19 anticipating that the baby would be delivered within
 20 the next couple of hours, although -- pardon me --
 21 that the baby would be delivered soon, I don't
 22 believe I ever gave a specific time, that the baby
 23 would be delivered soon, and that since she was
 24 making progress, that the baby's head was
 25 descending, that we would continue on planning for a

1 vaginal delivery.
 2 Q. And you left?
 3 A. I went to surgery, yes.
 4 Q. And if there were any new developments or
 5 change in the fetal heart patterns, you would have
 6 expected Nurse McCaslin to get ahold of you?
 7 A. Yes.
 8 Q. And if you were unavailable, then she would
 9 be getting ahold of your partner?
 10 A. Right.
 11 And in an urgent type of situation, where
 12 something needed to be done immediately, Dr. Fabi
 13 would be able to address that.
 14 Q. So Nurse McCaslin had a number of options if
 15 there was a change in the picture between 7:30 and
 16 9:30, correct?
 17 A. Yes, if I could not come, yes.
 18 Q. And those options were Dr. Fabi, yourself, or
 19 your partner?
 20 A. Yes.
 21 Q. And you next saw the patient at what time?
 22 A. Sometime between 11:00 and 11:30. I do not
 23 recall the time.
 24 Q. And was that simply to deliver the patient?
 25 I mean, were you called just to --

*** Notes ***

1 A. No, no. I came up there to see how things
 2 were, to reassess the situation.
 3 Q. And what did you find when you arrived at
 4 11:00 or 11:30?
 5 A. Well, that progress had been made, that the
 6 baby was close to delivering.
 7 Q. And did you also go back and review the
 8 strips that were generated between the last time you
 9 had been there?
 10 A. Yes.
 11 Q. Let's look at them, from 7:30 on, and the
 12 same question, point out any areas of concern from
 13 7:30 on?
 14 A. The question is --
 15 MR. GOLDWASSER: Matters of concern.
 16 A. (Continuing) Matters of concern.
 17 No. No, sir.
 18 Q. No reason for concern in the strips that you
 19 looked at between 7:30 and approximately 11:00
 20 o'clock; is that correct?
 21 A. No matters of concern for fetal distress.
 22 Q. Doctor, is there any reason why you didn't
 23 place an internal monitor on the patient at 7:30?
 24 A. Yes.
 25 Q. Tell me?

1 A. Because the fetal monitoring pattern was very
 2 clean, there was no extraneous interference.
 3 Q. When do you implement an internal monitor?
 4 A. When I need to have -- when the external
 5 monitor tracing is unclear and I need to have a
 6 quality reading.
 7 Q. Do you subscribe to the belief that an
 8 internal monitor generates a much more reliable
 9 indicator of short term variability than an external
 10 monitor?
 11 A. No. I mean, not necessarily so.
 12 Q. Do they have scalp pH devices at Marymount
 13 Hospital?
 14 A. Yes.
 15 Q. When do you utilize a scalp pH device?
 16 A. When I am concerned about fetal distress.
 17 Q. Can Nurse McCaslin, on her own, apply an
 18 internal monitor?
 19 A. I don't know that, sir.
 20 Q. Can Nurse McCaslin, on her own, obtain a
 21 scalp pH via a scalp device?
 22 A. I don't know that.
 23 Q. Have you ever trained her how to do that?
 24 A. No, sir.
 25 Q. Have you ever trained her how to put on an

*** Notes ***

1 internal monitor?
 2 A. No.
 3 Q. If you found out that she put on an internal
 4 monitor without your consent, would you be upset
 5 with her?
 6 A. I don't have an opinion on that at this time.
 7 Q. All right.
 8 You don't see any repetitive deceleration
 9 patterns in the second stage of labor?
 10 A. I do.
 11 Q. Oh, you do?
 12 But it is not -- it doesn't rise to the level
 13 of concern that you would call fetal distress?
 14 A. Right, yes.
 15 Q. And what is the reason for that?
 16 A. Well, because there is good return to the
 17 baseline, the baby is compensating with the stress
 18 of the contractions well.
 19 Q. So you are not concerned about repetitive
 20 decels, as long as there is good return to the fetal
 21 heart rate baseline, correct?
 22 A. That depends on the type of decel.
 23 Q. Well, we are talking about late decels here.
 24 A. I think we are talking about variable decels
 25 here.

1 Q. Oh, you would call them variable
2 decelerations?
3 A. Yes, sir.
4 Q. You would not call them late decelerations?
5 A. Looking at the big picture, I think that they
6 are far more likely, far more probably variables
7 than lates, looking at the big picture.
8 Q. What do you base that on, that they are more
9 likely variables?
10 A. Well, the patterns.
11 Q. If you are teaching someone who has never
12 studied fetal monitoring strips the difference
13 between a variable and a late, what would you tell
14 them?
15 A. Boy, that is a thirty minute answer. Do you
16 want a class in that? I mean, could you be more
17 specific? If you want me to give you the
18 differences between both, I mean, I will do all that
19 for you.
20 Q. I am just a lawyer, Doctor. But it is my
21 understanding that a variable occurs -- the
22 deceleration occurs at the same time of the
23 contraction, and a late, what distinguishes a late
24 from a variable, is that the deceleration occurs
25 after the peak of the contraction. Am I close? Is

*** Notes ***

1 that accurate?
2 A. I would define a late deceleration, the
3 classic late, as beginning to -- as the fetal heart
4 rate is beginning to decrease at the peak of the
5 contraction, it goes down to its base, and then it
6 returns to its baseline at least twenty seconds
7 after the contraction is over.
8 Q. Okay.
9 A. The variable deceleration which you describe
10 sounds very much to me like an "early deceleration,"
11 which is, as it were, the mirror image of the
12 contraction as far as its pattern.
13 Q. Okay.
14 A. And then the variable deceleration can begin
15 basically at any time, associated with the
16 contraction, it can last for -- oh, boy -- a certain
17 amount of time, I believe it is twenty to thirty
18 seconds, at least, and it returns to the baseline
19 then. And its timing is variable with the timing of
20 the contraction, as opposed to the early and the
21 late, okay? So variable contractions are variable,
22 are variable.
23 And these contractions, I believe, are
24 variable, in their start, and their stop, and their
25 patterns.

1 Q. What is your understanding as to the cause
2 for a variable deceleration?
3 A. It is thought to be due to some kind of a
4 temporary decrease in the blood flowing through the
5 umbilical cord so that there is partial decrease in
6 oxygenation, a time of stress for the baby. That is
7 a variable.
8 Q. Did you have any contact with your partner
9 during this labor?
10 A. I don't recall that.
11 Q. Would it be your practice or routine to make
12 contact with your partner if you are managing one of
13 his patients?
14 A. No.
15 Q. Did you have any other deliveries on the 27th
16 before 11:30 a.m.?
17 A. No, sir.
18 Q. Do you know if your partner had any other
19 deliveries on the 27th before 11:30 a.m.?
20 A. I don't know that.
21 Q. Now, this was an induction. Did you
22 understand that?
23 A. Yes, sir.
24 Q. Did you first glean that information at 5:30
25 a.m. when you received a call from Nurse McCaslin,

*** Notes ***

1 did you first learn that?
2 A. Boy, I don't remember that, sir.
3 Q. An induction because she was post-term?
4 A. Yes.
5 MR. GOLDWASSER: Post-date?
6 Q. Post-date, correct?
7 A. Yes.
8 Q. And is it routine in your office to induce
9 when someone reaches 42 weeks?
10 A. That is the usual practice, yes.
11 Q. And the thinking behind that is that the
12 placenta doesn't perform or function as well as it
13 did at 38 or 40 weeks, correct?
14 A. Occasionally, that is the situation, yes.
15 Q. That is the general understanding in medicine
16 as to why you induce at 42 weeks?
17 A. The general understanding is that at 42
18 weeks, there is an increased likelihood for the
19 placenta to be -- or to begin its aging process, and
20 to function less. But that occurred in maybe five
21 percent of placentas at that time.
22 Q. Of course, if the placenta isn't working as
23 efficiently as it once did or should, then there is
24 an increased risk of oxygen deprivation to the baby
25 during the labor process, correct?

1 A. Yes, sir.
 2 Q. Doctor, do you have an opinion as to why this
 3 child is brain damaged today?
 4 MR. GOLDWASSER: You can only state it
 5 with probability. If you know, you may
 6 answer.
 7 A. Because of the Group B Strep infection, or
 8 because of the finding that the placenta cultured
 9 out that bacteria, it is very possible that that
 10 bacteria could have caused the baby's brain damage.
 11 Q. Through a meningitis?
 12 A. For this particular baby, I don't know what
 13 the mechanism would be, sir.
 14 Q. All right.
 15 To your knowledge -- I know this wasn't your
 16 patient, but up until -- based on your conversations
 17 with your partner, did he anticipate a healthy baby
 18 up until the time of delivery?
 19 A. Absolutely.
 20 Q. And you did, as well?
 21 A. Yes, sir.
 22 Q. And Nurse McCaslin did, as well?
 23 A. Yes, sir.
 24 Q. Were the strips early on a good indicator
 25 that this was a healthy baby?

*** Notes ***

1 A. Yes.
 2 Q. If there is chorioamnionitis ongoing, do you
 3 know how that potentially reduces fetal oxygenation,
 4 do you have an understanding as to -- strike that.
 5 Do you have an understanding whether
 6 chorioamnionitis creates a risk of compromise of
 7 oxygen exchange between the mother and the fetus?
 8 A. I am not aware of that mechanism precisely,
 9 sir.
 10 Q. You have not heard of that before? Strike
 11 that.
 12 Do you know what the dangers -- back in May
 13 of '95, did you know whether there were any dangers
 14 to the fetus from chorioamnionitis?
 15 A. Yes.
 16 Q. And what did you understand, back in May of
 17 '95, those to be?
 18 A. That the fetus, per se, that the baby itself
 19 could become infected with the bacteria, if bacteria
 20 would get into the baby's lungs causing a pneumonia.
 21 Q. Okay.
 22 A. It could also go into its GI tract. And you
 23 know, that the baby could actually die from the
 24 infection.
 25 Q. If the record reflects that Dr. Fabi was

1 rendering care in the afternoon of the 26th at
 2 approximately 3:55 p.m., can you tell me why that
 3 would be, why would she be rendering care rather
 4 than you or your partner?
 5 MR. GOLDWASSER: That would be upon
 6 admission to the hospital.
 7 A. Dr. Fabi is the house doctor, and it is her
 8 responsibility, when a woman comes to the labor
 9 floor, for her to do an admitting history and
 10 physical.
 11 Q. Nurse Midwife McCaslin, did she have
 12 authority, back in May of '95, to order IV
 13 antibiotics, if she felt it was indicated?
 14 MR. GOLDWASSER: Don't guess. If you
 15 know, answer. I don't want you speculating.
 16 A. Well, she has the authority, yes.
 17 Q. Doctor, the second stage of labor begins when
 18 the woman becomes fully dilated, correct?
 19 A. Yes, sir.
 20 Q. On a woman, what is the normal length of time
 21 for the second stage of labor, on a woman who is
 22 going through her first pregnancy?
 23 MR. GOLDWASSER: Listen. What is the
 24 normal. He is not talking about this case.
 25 THE WITNESS: Right, right.

*** Notes ***

1 A. There is no normal.
 2 I do not know what the average length of time
 3 is. I have forgotten that number.
 4 Q. Is there a period of time when a woman
 5 reaches the second stage of labor, after a woman
 6 reaches the second stage of labor, when you grow
 7 concerned about some type of dysfunction in the
 8 delivery, descent and delivery?
 9 A. After three hours.
 10 Q. Do you recommend the administration of
 11 Demerol to a patient in the second stage of labor?
 12 A. Depending on the situation, maybe, maybe not.
 13 Q. In your review of this chart, did you note
 14 that this woman, Nicole McClain, was administered
 15 Demerol during the second stage of labor?
 16 A. At 10:10, yes. Yes.
 17 Q. Do you feel that was appropriate?
 18 A. Yes, sir, I do.
 19 Q. Isn't the administration of a narcotic during
 20 the second stage of labor risky because it increases
 21 the probability of the fetus being depressed at
 22 birth?
 23 A. That is a dose related or a dose
 24 proportionate type of question, sir. So I can't
 25 answer that.

1 Q. You have no problem with her administration
 2 of Demerol to this patient at 10:00 o'clock in the
 3 morning, correct?
 4 A. In this situation, no, sir.
 5 Q. Speaking generally, would you agree that
 6 Pitocin is contraindicated in the presence of fetal
 7 distress?
 8 A. No.
 9 Wait, pardon me, pardon me. In the presence
 10 of fetal distress? I am sorry.
 11 MR. GOLDWASSER: Do you want that
 12 question read back to you again?
 13 THE WITNESS: Sure.
 14 MR. GOLDWASSER: Read it back.
 15 Do you need a break, Doctor?
 16 THE WITNESS: Oh, no, no.
 17 MR. GOLDWASSER: Read it back, Ivy.
 18 Listen carefully.
 19 (Record read.)
 20 A. (Continuing) Yes, yes. I do agree with that.
 21 Q. Upon seeing this depressed newborn, what did
 22 you do?
 23 MR. GOLDWASSER: You are talking about
 24 what time now? When he first saw the baby?
 25 Q. (Continuing) Weren't you present at the time

1 of delivery?
 2 A. I don't recall that, sir.
 3 Q. Does the chart reflect whether you were
 4 present at the time of delivery?
 5 A. Not clearly, no.
 6 Q. Under Number 6, Intrapartum Delivery Sheet,
 7 your name is on there; is that correct?
 8 A. Yes.
 9 Q. What does that mean? What does that signify,
 10 if your signature is on that section box entitled,
 11 delivery personnel, what does that mean?
 12 A. I was countersigning Ms. McCaslin's name.
 13 Q. Why?
 14 A. That is a medical records requirement.
 15 Q. So that does not mean that you were present
 16 at the time of delivery?
 17 A. Not necessarily.
 18 Q. When was it and where were you located when
 19 you first heard about this depressed newborn?
 20 A. I was right outside the room, sitting at the
 21 nurses station on the labor floor.
 22 Q. Did someone run out and grab you, or yell for
 23 you?
 24 A. Boy, that, you know, I just don't recall.
 25 Q. Did you see any evidence of tachycardia in

*** Notes ***

1 this child in the last few hours of labor?
 2 (Thereupon, a discussion was had off
 3 the record.)
 4 (Short recess had.)
 5 (Thereupon, Plaintiffs' Exhibits 3, 4
 6 and 5 (Izanec) were marked for identification.)
 7 A. During the 11:00 o'clock hour, there were
 8 intermittent times of the fetal heart rate being in
 9 the 170s.
 10 Q. Would you have expected the midwife to have
 11 brought that to your attention during the 11:00
 12 o'clock hour?
 13 A. No.
 14 Q. Any other time?
 15 A. There was no consistent tachycardia that I
 16 can see here.
 17 Q. And when you are using the word, tachycardia,
 18 are you talking above 180, or are you talking above
 19 160?
 20 A. I am talking about the 160. So 160, 164.
 21 Like I am counting that as being 160.
 22 Q. Speaking generally about the administration
 23 of Demerol in the second stage, would you agree with
 24 me that if there was fetal distress present, Demerol
 25 during the second stage would be contraindicated?

1 A. Considerations of dosing are very important
 2 here. And if we agree on that, then I would agree
 3 with you that you don't want to give Demerol in the
 4 second stage when there is fetal distress.
 5 Q. I didn't understand your answer, Doctor.
 6 Are you saying over a certain level --
 7 A. Amount of Demerol.
 8 Q. What amount is permissible, and what amount
 9 of Demerol in the presence of fetal distress would
 10 be contraindicated?
 11 A. 25 milligrams intravenously or more.
 12 Q. Doctor, if there are repetitive late decels,
 13 how long do you feel you should permit a patient to
 14 proceed in labor before prudence demands that you
 15 intervene and terminate labor?
 16 A. I do not have an opinion on the specific
 17 number of late decels.
 18 Q. Are we talking ten minutes, are we talking
 19 twenty minutes, are we talking thirty minutes, are
 20 we talking an hour?
 21 MR. GOLDWASSER: This is persistent
 22 late decels?
 23 MR. BECKER: Right.
 24 MR. GOLDWASSER: In the presence of
 25 persistent late decels, is his question.

*** Notes ***

1 You may answer.
 2 A. I would need to know the other aspects of the
 3 fetal heart rate pattern, how close the person is to
 4 giving birth vaginally. I don't have an opinion on
 5 the precise time frame.
 6 Q. If a patient is experiencing stress, as
 7 compared to fetal distress, is there a period of
 8 time where it accumulates and would become, in your
 9 mind, fetal distress?
 10 A. Yes.
 11 Q. Longstanding stress?
 12 A. Yes.
 13 Q. What period of time? Are we talking half an
 14 hour, are we talking an hour, are we talking two
 15 hours?
 16 A. I couldn't answer that question, sir, on a
 17 time basis. I don't really look at time. I look at
 18 what the baby is doing.
 19 Q. But you acknowledge that even with your
 20 definition of stress, if it is ongoing and
 21 longstanding, it can convert into fetal distress --
 22 A. Yes.
 23 Q. -- just based on the length of time it has
 24 been on board or present?
 25 A. Time is really not the variable there, sir.

*** Notes ***

1 It is the baby's resilience, it is the placenta, it
 2 is how the mother is doing. It is those things.
 3 And time is not the thing. Time is really not the
 4 variable.
 5 Q. Do you have any problems with the
 6 administration of Pitocin during this labor?
 7 A. During this labor?
 8 Q. Yes.
 9 A. Generally speaking, no.
 10 Q. In retrospect, do you feel that Damien would
 11 have benefited from intrapartum antibiotics to the
 12 mother?
 13 MR. GOLDWASSER: I am going to object.
 14 I want to object. Retrospect is easy in
 15 every respect.
 16 Go ahead, with my objection noted that
 17 it is a fundamentally unfair question. You
 18 may answer.
 19 A. I just don't know. I don't know.
 20 Q. You are a member of ACOG?
 21 A. (Witness nods).
 22 Q. Would you agree that the ACOG bulletins that
 23 were in existence at the time that you rendered care
 24 to this patient set forth the minimum standard of
 25 care for a prudent obstetrician?

1 A. No. They usually don't set up a standard of
 2 care, actually. No.
 3 Q. You don't think that the recommendations from
 4 the American College would reflect a minimum
 5 standard of care of what an obstetrician, a prudent
 6 obstetrician, should do under the circumstances as
 7 described in a particular bulletin?
 8 A. They are recommendations, they are
 9 suggestions, they are excellent food for thought.
 10 But unless they state specifically, this is what
 11 should be done -- and the ACOG committee opinions do
 12 that now, the approach to Group B Strep prophylaxis
 13 is crystal clear --
 14 Q. You are talking about currently?
 15 A. Currently, right, right. And that, I think,
 16 is an excellent example. Because you know, that --
 17 although they never say, standard of care, boy, that
 18 is as close as we ever get.
 19 Q. Doctor -- excuse me, are you done?
 20 A. Yes.
 21 Q. I am going to hand you three more exhibits,
 22 which I think encompass everything in the chart that
 23 you generated, and I am going to go into these three
 24 documents. And these documents are marked 3, 4 and
 25 5.

*** Notes ***

1 But before I go into the detail of these,
 2 what I would like you to do, Doctor, is take a look
 3 at the documents, and take a look at the chart, and
 4 tell me if there is anything I have missed, that you
 5 have generated, that is not reflected in these
 6 documents.
 7 That was a long question. Do you understand
 8 it?
 9 A. Yes, sir.
 10 Q. Okay.
 11 A. Yes, these are my documents.
 12 Well, these two, these summaries, were
 13 both -- are the same document. They were dictated
 14 by Ms. McCaslin. These are my corrections when I
 15 countersigned it.
 16 This is --
 17 Q. You are referring to Number 3?
 18 A. Yes, apparently, right, Number 3 --
 19 Q. Which is a discharge summary?
 20 A. -- is the discharge summary, the same as
 21 Number 4, but this is before my corrections. And my
 22 recall is that I made these corrections in the
 23 medical record when I was signing off my charts.
 24 And I did not date when I had done that.
 25 Q. All right, so 3 and 4 essentially are the

1 same?
 2 A. Are the same, yes.
 3 Q. Would you pull your copy of -- your corrected
 4 copy, do you have that at hand?
 5 A. I don't know if I do.
 6 MR. GOLDWASSER: First of all, this is
 7 my record of the chart, my copy.
 8 In fact, I don't have the uncorrected
 9 copy.
 10 MR. BECKER: Well, Gary, I am going to
 11 leave these exhibits with Ivy, and she can
 12 make copies and attach them to the
 13 deposition.
 14 MR. GOLDWASSER: Again, this is my
 15 record, so it is not his.
 16 BY MR. BECKER:
 17 Q. I am just going to ask you a few questions
 18 off of the discharge summary, Doctor, okay?
 19 A. Yes, sir.
 20 Q. Well, are you saying that this was dictated
 21 by Nurse McCaslin, and not by you?
 22 A. Yes, sir.
 23 Q. But you cosigned it, or countersigned it,
 24 meaning you agree with it as corrected?
 25 A. Yes, sir.

1 THE WITNESS: May I take a break?
 2 MR. GOLDWASSER: Sure.
 3 (Short recess had.)
 4 BY MR. BECKER:
 5 Q. Let's go on to Exhibit 5, Doctor, which is
 6 your handwritten notes. And what I would like you
 7 to do --
 8 MR. GOLDWASSER: It is under progress
 9 notes, the next page.
 10 Q. -- is read them to me verbatim, and when you
 11 come to an abbreviation, do not utilize the
 12 abbreviation, tell me what you mean by it.
 13 A. Yes, sir.
 14 Agree with -- delivery comment, this is May
 15 the 28th at 10:40, delivery comment.
 16 Agree with note from May 26, 27 by McCaslin.
 17 Need to induce 42 week intrauterine pregnancy (dates
 18 by ultrasound). Fortunately average size baby by
 19 estimated fetal weight and amniotic fluid clear.
 20 Gentle induction, modest amounts of oxytocin.
 21 Labor length about twenty hours; seventeen hours of
 22 second stage --
 23 MR. GOLDWASSER: First stage.
 24 A. (Continuing) Pardon me, seventeen hours of
 25 first stage, three hours of second stage (and third

*** Notes ***

1 stage).
 2 Initially fetal heart rate baseline 130s, no
 3 decelerations, good variability.
 4 Q. Excuse me, let me stop you there.
 5 This form, this delivery comment, is this
 6 kind of a recap of the whole labor by you?
 7 A. Yes.
 8 Q. And it was done at 10:40 in the morning, the
 9 day after delivery?
 10 A. Yes, sir.
 11 Q. All right, go ahead.
 12 A. At 0520, May 27th, temperature 100.9, cervix
 13 five to six -- and then that is centimeters, if you
 14 agree that is an abbreviation -- fetal heart rate
 15 baseline 140s.
 16 At 0631, cervix is seven to eight
 17 centimeters, baseline 150s, still no decelerations.
 18 At 0655, four decels in five contractions.
 19 Variable decelerations. Baseline increased after
 20 these to 160s, 170. In parentheses, then no more
 21 decels. I believe that is a closed parentheses
 22 after that.
 23 At 0830, complete, baseline 150s, 160s, no
 24 decels -- no decelerations.
 25 At 0915, early decelerations to 140s. Return

1 to 160s with compensatory accelerations to 170;
 2 deeper early decelerations to 120s. That is at
 3 0930.
 4 The next sentence, if you will, baseline then
 5 150s, 160s; at 0948, decels look variable -- pardon
 6 me -- decelerations look variable to 90s, 100s;
 7 baseline 150s, 160s; then begin compensatory
 8 tachycardia to 170s, 180s, baseline still 150s,
 9 160s.
 10 Q. When did the compensatory tachycardia kick
 11 in?
 12 A. According to -- well, it occurred after 9:48,
 13 sir, and prior to delivery.
 14 Q. So what you are doing here, Doctor, a day
 15 later, you are taking the chart and the strips, and
 16 you are kind of summarizing your interpretation of
 17 what was occurring while these strips were being
 18 generated, correct?
 19 A. Yes, yes.
 20 Q. So when you say, compensatory tachycardia,
 21 what you mean by the word, compensatory, is that the
 22 baby's heart is beating faster because not enough
 23 oxygen is getting to the baby's brain?
 24 A. Because the baby was being stressed during
 25 the contractions.

*** Notes ***

1 Q. And when the baby becomes stressed, the
 2 baby's brain sends a signal to the heart to beat
 3 faster to send more blood up so that we can meet our
 4 oxygen demand; isn't that your understanding of the
 5 concept of compensatory tachycardia?
 6 A. Yes, yes. But that is not just for the
 7 brain, it is for the baby's body.
 8 Q. Okay.
 9 Continue on.
 10 A. Delivery after three hours, cord loosely
 11 draped over shoulder, no meconium ever. Newborn
 12 heart rate 150s. Immediate resuscitation. Not
 13 breathing spontaneously.
 14 Q. Okay.
 15 A. Question cause of hypoxia. Question
 16 infection. Born six hours after temperature 100.4,
 17 (went to 101.4). Checking placenta. Question cord.
 18 Why no meconium - classic sign of hypoxia.
 19 And then I signed my name.
 20 Q. Is there any question in your mind, Doctor,
 21 that this child was severely acidotic at birth?
 22 A. No, no.
 23 Q. And is there any question in your mind,
 24 Doctor, that the reason the child was severely
 25 acidotic at birth was due to oxygen deprivation

*** Notes ***

1 during the course of the labor?
 2 A. That, I just don't know.
 3 Q. Doctor, if the membranes, although clear,
 4 were the color yellow, at the time the amniotic
 5 fluid was yellow, what if any significance could you
 6 draw from that?
 7 If the color of the amniotic fluid upon
 8 rupture is something other than clear, let's assume
 9 it is clear yellow or clear orange, what is the
 10 significance of that?
 11 A. It is never clear yellow or clear orange.
 12 Q. So if it is yellow, it is cloudy?
 13 A. It would be kind of a milky yellow, you know,
 14 if it is yellow.
 15 Q. What would a milky yellow signify?
 16 A. Pus, I would think.
 17 Q. Doctor, you made a lot of comment or emphasis
 18 on an absence of meconium. Do you feel an absence
 19 of meconium eliminates hypoxia as a cause of
 20 depression?
 21 A. Would you mind asking me that again?
 22 Q. Yes, let me restate the question. It was a
 23 poor question.
 24 Do you think that the presence of meconium is
 25 always an indicator of fetal distress, of fetal

1 stress or distress?
 2 A. No.
 3 Q. Isn't it true, Doctor, that twenty to
 4 twenty-five percent of all babies born pass their
 5 meconium prior to delivery?
 6 A. I don't know what that percentage is.
 7 Q. Is there something you have learned or read
 8 which tells you that unless meconium is seen, that
 9 there cannot be an hypoxic process going on?
 10 A. It is my understanding that almost always, 99
 11 percent of the time, 99.9 percent of the time, when
 12 there is hypoxia, there will be meconium.
 13 MR. BECKER: Let's take a short break.
 14 I think we are done.
 15 MR. GOLDWASSER: Sure.
 16 Are you almost done?
 17 MR. BECKER: Yes.
 18 BY MR. BECKER:
 19 Q. Doctor, the fact that this baby's blood
 20 cultures were negative, does that have any
 21 significance towards your opinion that maybe beta
 22 strep was a player in this child's injury?
 23 A. I did not realize what the baby's blood
 24 cultures were.
 25 Q. Are you aware of a patient pamphlet that has

*** Notes ***

1 been put out by ACOG that says that if a patient has
 2 a temperature elevation in labor, that the patient
 3 should be offered antibiotics?
 4 A. I am not aware of that.
 5 MR. GOLDWASSER: Just for my sake, the
 6 second last question he asked about the baby,
 7 read that back.
 8 (Record read.)
 9 BY MR. BECKER:
 10 Q. In looking back at these strips, Doctor, is
 11 there any time that you felt that you should have
 12 been contacted by Nurse McCaslin, that you were not?
 13 A. No.
 14 Q. Can we agree, Doctor, that at 5:30, this case
 15 became a joint management case between you and Nurse
 16 McCaslin?
 17 A. Yes.
 18 Q. And it continued that way up until the time
 19 of delivery?
 20 A. Yes.
 21 Q. It is your opinion, Doctor, that the fetal
 22 monitoring strips were reassuring throughout the
 23 labor? Strike that.
 24 Is it your opinion, Doctor, from the time you
 25 were contacted at 5:30 until the time of delivery,

1 that they were, in essence, reassuring?
2 A. Yes.
3 Q. Do you feel that the polyhydramnios is
4 irrelevant to any potential cause of damage to this
5 child?
6 A. Yes.
7 Q. You are not critical of any of the hospital
8 nurses, are you?
9 A. No, I am not, sir.
10 Q. You appreciate, Doctor, that when a midwife
11 is present and managing a labor, that the
12 obstetrical nurses would have a tendency to defer to
13 the midwife?
14 A. I am not aware of that, sir.
15 MR. BECKER: Thank you, Doctor, for
16 your time.
17 THE WITNESS: Thank you.
18 MR. GOLDWASSER: I will have the
19 doctor read it.
20 - - -
21 (DEPOSITION CONCLUDED)
22 - - -
23 _____
24 James J. Izanec, M.D.

1 CERTIFICATE
2 State of Ohio, } ss:
3 County of Cuyahoga.)
4 I, Ivy J. Gantverg, Registered Professional
5 Reporter and Notary Public in and for the State of
6 Ohio, duly commissioned and qualified, do hereby
7 certify that the above-named JAMES J. IZANEC, M.D.,
8 was by me first duly sworn to testify to the truth,
9 the whole truth, and nothing but the truth in the
10 cause aforesaid; that the deposition as above set
11 forth was reduced to writing by me, by means of
12 stenotype, and was later transcribed into
13 typewriting under my direction by computer-aided
14 transcription; that I am not a relative or attorney
15 of either party or otherwise interested in the event
16 of this action.
17 IN WITNESS WHEREOF, I have hereunto set my
18 hand and seal of office at Cleveland, Ohio, this
19 17th day of February, 1997.
20
21 Ivy J. Gantverg, Notary Public _____
22 in and for the State of Ohio.
23 Registered Professional Reporter.
My commission expires September 13, 1998.

*** Notes ***

*** Notes ***

'95 [4]	18:10	51:13	51:17	52:12	48:19	64:12	address [3]	3:11	3:14	42:13
00 [7]	22:17	42:22	43:4	43:19	28299 [1]	3:12	administered [1]	53:14		
54:2	56:7	56:11			28th [1]	63:15	administration [6]	26:22	53:10	
05 [2]	1:24	23:4			3 [6]	52:2	53:19	54:1	56:22	59:6
0520 [1]	64:12				61:18	61:25	admission [2]	4:25	52:6	
0631 [1]	64:16				30 [36]	15:17	admitted [2]	32:13	32:25	
0655 [1]	64:18				19:14	20:4	admitting [1]	52:9		
0830 [1]	64:23				21:19	21:23	aforesaid [1]	71:10		
0915 [1]	64:25				33:22	34:10	afternoon [2]	7:22	52:1	
0930 [1]	65:3				39:14	39:17	again [18]	8:16	19:8	19:13
0948 [1]	65:5				42:16	42:22	22:18	25:20	26:14	28:1
1 [3]	6:20	6:24	6:24		43:19	43:23	29:7	29:14	30:25	33:23
10 [6]	23:6	53:16	53:16	54:2	69:14	69:25	38:21	39:13	54:12	62:14
63:15	64:8				314977 [1]	1:8	against [2]	10:9	12:6	67:21
100.4 [1]	66:16				38 [1]	49:13	age [1]	10:21		
100.9 [1]	64:12				4 [4]	56:5	aging [1]	49:19		
100s [1]	65:6				40 [3]	49:13	agree [12]	28:18	54:5	54:20
101.4 [1]	66:17				42 [4]	49:9	56:23	57:2	57:2	59:22
11 [11]	22:17	22:17	42:22	42:22	44035 [1]	2:5	63:14	63:16	64:14	69:14
43:4	43:4	43:19	48:16	48:19	44114 [1]	2:8	agreement [1]	1:21		
56:7	56:11				44124 [1]	3:13	ahead [10]	5:12	15:11	16:23
113 [2]	1:23	2:8			48 [1]	65:12	19:13	25:18	37:11	37:23
116 [1]	25:25				5 [14]	15:17	59:16	64:11	37:11	38:19
11711 [3]		36:5	36:5	36:6	19:14	20:6	abold [2]	42:6	42:9	
11712 [2]		36:18	37:1		56:6	60:25	al [2]	1:6	1:9	
11713 [1]		37:2			55 [1]	52:2	alarming [2]	35:12	35:19	
11714 [1]		37:16			6 [4]	35:13	allegation [1]	11:3		
11715 [3]		38:4	38:9	38:17	7 [15]	20:4	almost [2]	68:10	68:16	
11717 [1]		39:2			33:17	33:22	always [2]	67:25	68:10	
11719 [1]		39:4			41:13	42:15	American [1]	60:4		
120 [1]	25:16				43:23		amniotic [4]	14:13	63:19	67:4
12000 [1]		3:15			8 [1]	23:4	67:7			
120s [1]	65:2				80s [1]	25:13	among [1]	9:20		
13 [1]	71:23				9 [4]	1:24	amount [4]	47:17	57:7	57:8
130s [1]	64:2				90s [2]	25:13	57:8			
134 [1]	2:4				99 [1]	68:10	amounts [2]	14:15	63:20	
14 [1]	1:14				99.9 [1]	68:11	anesthesiologist [1]	36:21		
140s [2]	64:15	64:25			a.m [2]	1:24	answer [19]	4:6	4:18	10:18
150s [6]	64:17	64:23	65:5	65:7	a.m. [2]	48:16	11:2	11:15	16:12	21:11
65:8	66:12				abbreviation [3]	63:11	39:12	40:17	40:18	46:15
160 [7]	25:23	26:1	26:5	56:19	able [1]	42:13	52:15	53:25	57:5	58:1
56:20	56:20	56:21			above [7]	1:25	59:18			58:16
160s [7]	38:25	64:20	64:23	65:1	26:1	56:18	answers [1]	6:4		
65:5	65:7	65:9			above-named [1]		ante [1]	8:1		
164 [1]	56:20				absence [2]	67:18	antibiotics [6]	17:23	18:12	19:3
170 [2]	64:20	65:1			absolutely [3]	9:19	52:13	59:11	69:3	
170s [2]	56:9	65:8			accelerations [1]	65:1	anticipate [3]	30:8	30:11	50:17
17th [1]	71:19				According [1]	65:12	anticipated [4]	18:21	18:24	30:1
180 [2]	25:20	56:18			account [1]	15:23	30:3			
180s [1]	65:8				accumulates [1]	58:8	anticipating [1]	41:19		
1995 [2]	9:7	9:10			accurate [2]	30:19	APPEARANCES [1]	2:1		
1996 [1]	5:10				acidosis [1]	29:2	appeared [1]	35:6		
1997 [2]	1:14	71:19			acidotic [4]	28:18	apply [1]	44:17		
1998 [1]	71:23				66:25		appreciate [2]	31:5	70:10	
2 [1]	36:2				acknowledge [1]	58:19	approach [1]	60:12		
20 [1]	23:6				ACOG [7]	4:25	appropriate [1]	53:17		
25 [1]	57:11				59:20	59:22	areas [1]	43:12		
26 [1]	63:16				59:20	60:11	arrive [1]	20:2		
26th [2]	33:8	52:1			action [7]	15:3	arrived [2]	20:6	43:3	
27 [1]	63:16				15:9	15:12	arriving [1]	39:19		
27th [6]	15:17	16:14	32:14	48:15	15:20	71:16	aspects [1]	58:2		
					active [1]	39:7	assess [1]	20:2		
					actively [2]	13:11				
					activities [1]	15:9				
					actual [1]	13:3				

assessed [2]	17:20	17:22							benefited [1]	59:11						certificate [2]	7:5	71:1				
assessing [1]	17:2								best [5]	9:19	9:21	12:21	16:12			certified [2]	3:4	8:6				
assist [1]	13:1								21:17							certify [1]	71:7					
associated [2]	7:13	47:15							beta [1]	68:21						cervix [2]	64:12	64:16				
assume [2]	4:15	67:8							between [11]	15:12	20:5	32:17				change [7]	26:22	27:20	28:1			
assuming [1]	13:5								42:15	42:22	43:8	43:19	46:13			28:3	37:24	42:5	42:15			
assuring [1]	33:10								46:18	51:7	69:15					changed [2]	15:21	15:21				
attach [1]	62:12								big [4]	27:5	27:7	46:5	46:7			changes [1]	27:22					
attempt [1]	4:11								birth [5]	30:18	53:22	58:4	66:21			chart [16]	4:24	15:25	16:5			
attendance [1]	30:5								66:25							21:5	21:8	29:14	29:16	32:5		
attention [1]	56:11								bit [2]	12:9	26:10					33:21	33:23	53:13	55:3	60:22		
attorney [2]	11:9	71:14							blood [8]	27:20	28:1	29:3	34:13			61:3	62:7	65:15				
authoritative [2]	9:17	9:18							48:4	66:3	68:19	68:23				charts [1]	61:23					
authority [2]	52:12	52:16							board [3]	8:6	12:12	58:24				check [1]	24:10					
automatic [1]	14:4								body [1]	66:7						Checking [1]	66:17					
autonomic [2]	27:22	28:13							born [8]	9:4	15:18	17:19	22:20			child [13]	9:4	10:20	13:18	18:17		
available [1]	23:23								29:21	30:21	66:16	68:4				29:21	29:22	30:18	30:21	50:3		
Avenue [1]	2:4								Boulevard [1]	3:13						56:1	66:21	66:24	70:5			
average [2]	53:2	63:18							box [1]	55:10						child's [2]	10:25	68:22				
aware [6]	16:15	32:12	51:8						boy [5]	46:15	47:16	49:2	55:24			chorioamnionitis [4]	18:1	51:2				
68:25	69:4	70:14							60:17							51:6	51:14					
away [1]	30:22								bradycardia [5]	25:12	25:15	26:9				circulation [1]	28:3					
B [6]	5:4	5:14	18:18	18:18					38:1	38:6						circumstances [1]	60:6					
50:7	60:12								brain [6]	28:11	50:3	50:10	65:23			Civil [1]	1:18					
babies [1]	68:4								66:2	66:7						claims [4]	10:8	10:11	10:13			
baby [28]	15:18	17:11	17:19	17:20					breadth [1]	8:13						10:15						
17:22	22:19	28:4	36:11	36:12					break [3]	54:15	63:1	68:13				Clair [2]	1:23	2:8				
39:6	41:19	41:21	41:22	43:6					breathing [1]	66:13						class [1]	46:16					
45:17	48:6	49:24	50:12	50:17					breech [1]	13:19						classic [2]	47:3	66:18				
50:25	51:18	51:23	54:24	58:18					broad [1]	21:9						clean [1]	44:2					
63:18	65:24	66:1	69:6						brought [1]	56:11						clear [9]	15:10	60:13	63:19	67:3		
baby's [11]	22:19	41:24	50:10						Building [2]	1:23	2:8					67:8	67:9	67:9	67:11	67:11		
51:20	59:1	65:22	65:23	66:2					bulletin [3]	5:2	5:3	60:7				clearing [1]	29:5					
66:7	68:19	68:23							bulletins [3]	5:1	5:18	59:22				clearly [2]	38:16	55:5				
bacteria [4]	50:9	50:10	51:19						business [1]	3:14						clerical [1]	8:14					
51:19									C.N.M [1]	2:10						Cleveland [3]	1:24	2:8	71:18			
balance [1]	24:14								calls [1]	32:8						clinic [2]	7:22	7:24				
base [2]	46:8	47:5							cannot [2]	36:15	68:9					clinical [1]	7:14					
based [8]	13:9	17:25	19:4	33:20					caption [1]	15:8						close [4]	43:6	46:25	58:3	60:18		
33:20	41:9	50:16	58:23						care [11]	12:25	13:1	30:7	30:12			closed [1]	64:21					
baseline [13]	38:24	45:17	45:21						52:1	52:3	59:23	59:25	60:2			cloudy [1]	67:12					
47:6	47:18	64:2	64:15	64:17					60:5	60:17						co-manage [1]	13:24					
64:19	64:23	65:4	65:7	65:8					career [2]	10:16	11:23					co-management [4]	14:2	14:4				
basis [2]	40:6	58:17							careful [1]	22:11						14:17	14:20					
beat [5]	27:11	27:11	27:12	27:12					carefully [2]	17:2	54:18					CO2 [1]	29:6					
66:2									case [23]	1:8	7:13	11:6	11:16			Code [1]	30:5					
beating [1]	65:22								11:17	11:18	11:20	12:2	15:11			College [1]	60:4					
became [2]	32:12	69:15							15:11	15:21	19:13	20:24	21:20			color [2]	67:4	67:7				
Becker [23]	2:3	2:4	3:7						22:4	22:13	22:22	22:25	23:1			Columbia [2]	7:10	7:11				
5:22	5:25	6:6	6:11	6:13					24:6	52:24	69:14	69:15				coming [6]	8:1	12:12	19:15			
6:14	6:19	6:22	26:1	33:2					cases [3]	11:21	12:1	12:5				19:17	22:16	38:22				
35:25	57:23	62:10	62:16	63:4					caused [1]	50:10						commencing [1]	1:24					
68:13	68:17	68:18	69:9	70:15					causes [1]	29:1						comment [4]	63:14	63:15	64:5			
become [3]	13:11	51:19	58:8						causing [1]	51:20						67:17						
becomes [2]	52:18	66:1							caveat [1]	21:17						comments [1]	36:6					
becoming [2]	28:18	28:20							CBC [6]	39:23	40:3	40:11	40:15			commission [1]	71:23					
began [1]	15:16								41:10	41:14						commissioned [1]	71:6					
begin [4]	4:5	47:14	49:19	65:7					Center [1]	3:18						committee [3]	5:13	5:18	60:11			
beginning [2]	47:3	47:4							centimeters [6]	16:25	17:11	17:16				COMMON [1]	1:4					
begins [1]	52:17								39:5	64:13	64:17					compared [1]	58:7					
behalf [3]	2:2	2:6	20:10						cerebral [1]	10:20						compensating [2]	25:3	45:17				
behind [1]	49:11								certain [2]	47:16	57:6					compensatory [6]	65:1	65:7				
belief [1]	44:7								certainly [3]	14:1	21:12	28:13										

65:10 65:20 65:21 66:5	corrected [2] 62:3 62:24	delivered [4] 17:12 41:19 41:21
complete [2] 23:5 64:23	corrections [3] 61:14 61:21 61:22	41:23
completed [1] 24:8	cosigned [1] 62:23	deliveries [3] 13:4 48:15 48:19
complication [2] 13:6 13:9	counsel [1] 1:21	delivering [2] 17:4 43:6
compression [1] 27:24	count [1] 40:5	delivery [33] 11:4 11:13 13:13
compromise [1] 51:6	countersigned [2] 61:15 62:23	18:21 18:24 20:22 20:22 22:1
computer-aided [1] 71:13	countersigning [1] 55:12	23:18 24:10 29:12 30:14 31:17
concept [4] 18:1 26:3 28:18	counting [1] 56:21	32:4 41:5 42:1 50:18 53:8
66:5	County [2] 1:2 71:3	53:8 55:1 55:4 55:6 55:11
concern [9] 37:14 37:15 37:19	couple [1] 41:20	55:16 63:14 63:15 64:5 64:9
43:12 43:15 43:16 43:18 43:21	coupling [1] 37:16	65:13 66:10 68:5 69:19 69:25
concerned [3] 44:16 45:19 53:7	course [7] 14:17 16:1 16:4	demand [2] 14:17 66:4
concerning [3] 35:12 35:19 39:10	28:20 33:5 49:22 67:1	demands [2] 8:5 57:14
concerns [1] 5:2	COURT [1] 1:4	Demerol [8] 53:11 53:15 54:2
conclude [2] 29:24 32:11	cover [1] 34:9	56:23 56:24 57:3 57:7 57:9
CONCLUDED [1] 70:21	covered [1] 12:5	depend [1] 14:5
condition [1] 30:17	creates [1] 51:6	depending [2] 29:7 53:12
consensus [1] 9:20	critical [1] 70:7	depo [1] 6:10
consent [1] 45:4	criticism [1] 31:7	deposed [1] 3:4
consider [5] 9:13 9:17 9:19	CROSS [1] 3:6	deposition [12] 1:13 1:16 4:2
10:1 27:2	crowning [1] 22:19	4:23 10:8 12:1 12:7 16:4
Considerations [1] 57:1	crystal [1] 60:13	24:14 62:13 70:21 71:10
considered [1] 9:21	cultured [3] 17:22 17:22 50:8	depositions [1] 11:24
consisted [1] 17:7	cultures [2] 68:20 68:24	depressed [6] 30:1 30:3 30:11
consistent [1] 56:15	current [2] 5:19 7:2	53:21 54:21 55:19
consistently [2] 6:7 25:20	curriculum [1] 7:1	depression [1] 67:20
constantly [1] 15:22	Cuyahoga [2] 1:2 71:3	deprivation [4] 28:22 29:1 49:24
contact [5] 14:24 20:7 24:5	cysts [1] 23:2	66:25
48:8 48:12	damage [2] 50:10 70:4	descending [1] 41:25
contacted [3] 20:17 69:12 69:25	damaged [1] 50:3	descent [1] 53:8
contacting [1] 20:12	Damien [2] 1:6 59:10	describe [1] 47:9
contacts [1] 32:3	dangers [2] 51:12 51:13	described [1] 60:7
contained [1] 32:5	data [2] 17:25 41:11	description [2] 7:11 10:25
context [3] 21:14 24:18 24:20	date [3] 1:25 5:8 61:24	detail [1] 61:1
continue [3] 17:1 41:25 66:9	dates [1] 63:17	details [2] 15:20 16:8
continued [2] 17:7 69:18	deal [1] 21:5	determine [1] 39:25
continuing [21] 11:20 16:14 17:15	decel [2] 38:9 45:22	developed [4] 15:12 15:15 15:16
18:8 19:12 21:16 22:15 24:20	deceleration [14] 26:11 26:12 27:1	17:6
25:13 26:2 27:12 29:15 33:3	38:7 38:7 38:14 45:8 46:22	developing [1] 17:21
34:3 37:22 39:11 41:2 43:16	46:24 47:2 47:9 47:10 47:14	development [1] 38:1
54:20 54:25 63:24	48:2	developments [2] 15:23 42:4
contraction [7] 46:23 46:25 47:5	decelerations [14] 26:14 26:25	develops [3] 14:16 14:21 14:23
47:7 47:12 47:16 47:20	38:2 38:3 38:10 46:2 46:4	device [2] 44:15 44:21
contractions [10] 27:21 27:23 37:16	64:3 64:17 64:19 64:24 64:25	devices [1] 44:12
38:5 38:21 45:18 47:21 47:23	65:2 65:6	dictated [2] 61:13 62:20
64:18 65:25	decels [12] 37:2 45:20 45:23	die [1] 51:23
contraindicated [3] 54:6 56:25	45:24 57:12 57:17 57:22 57:25	difference [1] 46:12
57:10	64:18 64:21 64:24 65:5	differences [1] 46:18
contrary [1] 21:10	decrease [5] 28:5 28:16 47:4	different [1] 14:7
controlled [1] 28:13	48:4 48:5	differential [2] 18:2 18:4
conversations [3] 29:10 29:18	decreased [2] 36:8 36:10	difficult [1] 4:19
50:16	deep [1] 36:12	dilated [2] 16:25 52:18
convert [1] 58:21	deeper [1] 65:2	direction [1] 71:13
copies [1] 62:12	defendant [2] 1:16 3:2	disability [1] 9:9
copy [6] 6:9 6:17 62:3 62:4	Defendants [2] 1:10 2:6	disagree [2] 25:24 26:2
62:7 62:9	defer [1] 70:12	discharge [3] 61:19 61:20 62:18
cord [3] 48:5 66:10 66:17	define [7] 9:18 14:19 24:12	discuss [1] 11:17
corporation [1] 12:13	24:13 25:15 25:23 47:2	discussed [1] 41:17
correct [16] 7:15 17:8 28:23	defined [2] 11:1 25:6	discussing [1] 21:20
30:14 33:18 39:19 42:16 43:20	definition [1] 58:20	discussion [2] 19:6 56:2
45:21 49:6 49:13 49:25 52:18	deliver [1] 42:24	dismissed [1] 12:2
54:3 55:7 65:18		distinguish [2] 24:25 27:17

distinguishes [1] 46:23	Esq [2] 2:3 2:7	27:18 27:18 27:19 28:6 30:24
distress [28] 14:16 14:21 14:23	essence [3] 17:18 20:20 70:1	34:18 34:21 37:17 37:24 42:5
24:16 24:24 25:2 25:8 25:10	essentially [1] 61:25	43:21 44:1 44:16 45:13 45:20
26:4 26:13 27:2 27:14 27:16	estimated [1] 63:19	46:12 47:3 51:3 54:6 54:10
27:18 28:6 43:21 44:16 45:13	et [2] 1:6 1:9	56:8 56:24 57:4 57:9 58:3
54:7 54:10 56:24 57:4 57:9	etc [1] 1:6	58:7 58:9 58:21 63:19 64:2
58:7 58:9 58:21 67:25 68:1	event [1] 71:15	64:14 67:25 67:25 69:21
District [2] 7:10 7:11	evidence [3] 27:15 36:25 55:25	fetus [14] 17:3 25:3 27:19 27:22
doctor [50] 5:23 6:23 7:4	exam [1] 34:15	28:12 28:17 28:20 29:2 29:5
10:6 16:3 16:10 18:11 19:14	examination [6] 1:17 3:3 3:6	29:6 51:7 51:14 51:18 53:21
20:20 21:4 21:17 22:21 24:12	8:8 39:21 41:14	few [7] 5:9 17:4 17:12 18:24
29:10 32:1 32:7 34:20 36:18	examine [1] 34:7	23:9 56:1 62:17
36:19 37:5 37:7 37:11 38:15	examined [1] 34:11	field [1] 5:20
40:14 43:22 46:20 50:2 52:7	example [2] 37:1 60:16	fifteen [2] 25:14 25:20
52:17 54:15 57:5 57:12 60:19	examples [6] 13:8 25:9 25:11	filed [1] 12:6
61:2 62:18 63:5 65:14 66:20	25:18 26:12 28:25	finding [1] 50:8
66:24 67:3 67:17 68:3 68:19	excellent [2] 60:9 60:16	findings [1] 34:17
69:10 69:14 69:21 69:24 70:10	exchange [1] 51:7	fine [3] 6:11 9:8 36:16
document [3] 6:12 31:13 61:13	excuse [5] 16:18 25:22 36:24	first [18] 3:3 6:25 8:8 10:2
documents [5] 60:24 60:24 61:3	60:19 64:4	11:11 12:16 32:12 32:21 33:16
61:6 61:11	excuses [1] 16:11	48:24 49:1 52:22 54:24 55:19
doesn't [3] 4:10 45:12 49:12	exhibit [6] 6:15 6:20 6:24	62:6 63:23 63:25 71:8
done [8] 31:3 42:12 60:11 60:19	6:24 36:2 63:5	five [5] 14:13 34:22 49:20 64:13
61:24 64:8 68:14 68:16	exhibits [3] 56:5 60:21 62:11	64:18
dose [2] 53:23 53:23	existence [1] 59:23	floor [6] 22:16 24:9 32:20 40:9
dosing [1] 57:1	expected [2] 42:6 56:10	52:9 55:21
down [3] 30:23 32:8 47:5	experience [1] 41:9	flow [3] 27:21 28:1 29:3
Dr [9] 4:25 12:14 32:24 36:20	experiencing [1] 58:6	flowing [1] 48:4
36:23 42:12 42:18 51:25 52:7	expertise [1] 12:24	fluid [5] 13:25 14:13 63:19 67:5
draped [1] 66:11	expire [1] 71:23	67:7
draw [1] 67:6	explore [1] 29:9	fluids [1] 26:23
due [2] 48:3 66:25	extent [1] 35:1	follow [1] 15:3
duly [3] 3:3 71:6 71:8	external [2] 44:4 44:9	followed [1] 17:2
during [19] 5:10 14:16 16:3	extraneous [1] 44:2	following [1] 21:2
18:19 19:18 20:13 31:22 37:17	F [1] 2:3	follows [1] 3:5
48:9 49:25 53:15 53:19 56:7	F-A-B-I [1] 37:6	food [1] 60:9
56:11 56:25 59:6 59:7 65:24	F-A-I-R-M-O-U-N-T [1] 3:12	forget [1] 36:16
67:1	F-A-R-I-N-A-C-C-I [1] 3:24	forgotten [1] 53:3
dysfunction [1] 53:7	Fabi [5] 37:6 42:12 42:18 51:25	form [1] 64:5
early [6] 18:20 47:10 47:20 50:24	52:7	formalities [1] 1:22
64:25 65:2	fact [4] 35:17 36:1 62:8 68:19	forth [3] 1:25 59:24 71:11
easy [1] 59:14	factor [2] 13:5 13:9	Fortunately [1] 63:18
efficiently [1] 49:23	factors [1] 18:25	forty-five [1] 41:12
eight [5] 16:24 17:13 17:15 39:5	facts [1] 32:23	forward [4] 35:14 35:18 37:1
64:16	fair [5] 4:11 24:2 24:8 29:24	37:11
either [4] 9:10 14:8 33:20	32:11	found [3] 10:20 10:21 45:3
71:15	Fairmount [1] 3:12	four [2] 14:12 64:18
elective [1] 23:7	familiar [1] 14:9	frame [2] 19:21 58:5
elevation [6] 16:16 18:20 28:2	family [2] 7:21 7:23	free [3] 16:4 16:6 33:24
40:1 40:4 69:2	far [3] 46:6 46:6 47:12	Frequently [1] 27:3
eliminates [1] 67:19	Farinacci [2] 3:23 32:24	FRIDAY [1] 1:14
Elyria [1] 2:5	fashion [1] 38:22	full [1] 3:8
emphasis [1] 67:17	Fasi [1] 37:5	fully [2] 4:15 52:18
emphasize [1] 39:9	faster [2] 65:22 66:3	function [2] 49:12 49:20
employee [1] 31:6	February [2] 1:14 71:19	fundamentally [1] 59:17
employees [2] 8:11 9:5	felt [3] 19:3 52:13 69:11	Gantverg [3] 1:19 71:4 71:21
encompass [1] 60:22	fetal [58] 9:12 10:2 14:16 14:21	Garfield [1] 3:16
entail [1] 34:12	14:23 16:16 16:20 21:20 24:16	Gary [2] 2:7 62:10
entire [1] 27:4	24:20 24:24 25:2 25:7 25:9	gathering [3] 18:23 22:21 31:19
entitled [1] 55:10	25:10 25:12 25:19 26:4 26:4	general [2] 49:15 49:17
enumerated [1] 19:1	26:13 27:2 27:13 27:16	generally [3] 54:5 56:22 59:9
epidural [1] 36:19		generate [1] 32:1
episode [1] 27:4		

generated [5] 61:5 65:18	39:18	43:8	60:23	high [1] 13:5				instructed [1] 11:16			
generates [1] 44:8				highlight [1] 10:15				instructor [3] 7:14	7:17	7:19	
Genevieve [2] 2:10	9:2			hired [1] 12:16				instruments [1] 11:13			
Genny [1] 41:17				history [4] 14:3	18:15	18:16		interested [4] 10:12	10:13	20:23	
Gentle [1] 63:20				52:9				71:15			
GI [1] 51:22				home [3] 3:11	20:17	32:8		interference [1] 44:2			
given [3] 11:24	12:1	22:22		hope [1] 17:3				intermittent [1] 56:8			
giver [1] 13:1				hopeful [1] 17:11				internal [7] 34:15	43:23	44:3	
giving [3] 11:1	20:14	58:4		hospital [15] 13:11	14:20	14:24		44:8 44:18 45:1	45:3		
glean [1] 48:24				20:12 23:13	30:22	32:5	32:13	interpretation [1] 65:16			
goes [1] 47:5				40:11 40:16	41:4	41:5	44:13	interrogatories [1] 6:5			
Goldwasser [57] 2:7	5:24	6:3		52:6 70:7				interrupt [1] 16:19			
6:8 6:16	10:17	11:12	11:14	hospitals [1] 13:4				intervene [1] 57:15			
13:14 16:1	16:10	17:13	18:6	hour [12] 19:18	19:20	19:23	20:15	intrapartum [2] 55:6	59:11		
19:9 21:1	22:5	22:9	24:18	32:24 35:9	35:9	56:7	56:12	intrauterine [3] 26:17	26:19	63:17	
25:25 27:10	29:13	31:11	32:23	57:20 58:14	58:14			intravenously [1] 57:11			
33:4 33:6	33:12	35:20	35:23	hours [17] 17:4	17:12	18:22		involve [1] 29:4			
36:4 36:22	37:13	37:19	37:23	18:24 23:9	40:15	40:21	41:20	involved [5] 10:19	13:12	13:18	
39:8 40:17	40:23	43:15	49:5	53:9 56:1	58:15	63:21	63:21	13:20 13:23			
50:4 52:5	52:14	52:23	54:11	63:24 63:25	66:10	66:16		irrelevant [1] 70:4			
54:14 54:17	54:23	57:21	57:24	house [2] 37:7	52:7			itself [3] 14:1	51:18		
59:13 62:6	62:14	63:2	63:8	hypertension [2] 13:23	27:25			IV [2] 19:3	52:12		
63:23 68:15	69:5	70:18		hypoxia [4] 66:15	66:18	67:19		Ivy [8] 1:19	4:19	6:19	19:9
gone [4] 34:22	34:24	35:5	35:8	hypoxic [1] 68:9				54:17 62:11	71:4	71:21	
good [6] 3:8	21:5	45:16	45:20	Iarussi [1] 36:20				Izanec [12] 1:9	1:13	1:16	
50:24 64:3				identification [3] 56:6	6:21	36:3		3:1 3:10	6:21	6:24	36:3
grab [1] 55:22				identified [1] 8:18				36:23 56:6	70:23	71:7	
graded [1] 14:10				identify [1] 12:25				J [10] 1:13	1:16	1:19	3:1
Grossman [1] 8:22				identity [1] 10:12				3:10 3:23	70:23	71:4	71:7
ground [1] 4:5				image [1] 47:11				71:21			
group [17] 3:17	3:19	3:22		Immediate [1] 66:12				James [7] 1:9	1:13	1:16	
5:4 5:14	8:12	8:19	9:1	immediately [1] 42:12				3:1 3:10	70:23	71:7	
9:5 12:10	12:18	18:18	18:18	implement [1] 44:3				John [1] 3:23			
31:20 33:1	50:7	60:12		important [3] 4:7	4:18	57:1		joint [1] 69:15			
grow [1] 53:6				impossible [1] 21:6				jointly [1] 30:24			
guess [2] 40:20	52:14			inartfully [1] 4:9				journals [3] 9:25	9:25	10:1	
GYN [2] 23:12	24:9			inclined [1] 38:11				Jr [1] 1:6			
gynecologic [1] 23:1				include [1] 8:14				Judy [1] 9:2			
gynecology [2] 5:3	5:21			incorrect [1] 7:16				jumping [2] 15:11	19:13		
H [1] 2:7				increased [3] 49:18	49:24	64:19		K-R-E-Y-E [1] 9:3			
half [3] 32:25	35:9	58:13		increases [1] 53:20				kick [2] 14:4	65:10		
hand [3] 60:21	62:4	71:18		independent [5] 20:23	21:8	21:13		kind [10] 9:9	10:15	14:19	22:25
handed [2] 6:12	31:13			22:6 29:14				26:23 40:15	48:3	64:6	65:16
handing [1] 6:23				indicate [1] 4:14				67:13			
handwritten [1] 63:6				indicated [6] 10:7	19:4	30:16		knowledge [2] 29:25	50:15		
happening [2] 15:24	27:4			33:16 39:16	52:13			Kreye [1] 9:2			
head [5] 4:20	22:19	27:23	30:10	indicates [1] 25:3				L-U-C-Z-E-K [1] 12:15			
41:24				indication [2] 28:17	28:21			labor [43] 11:4	13:3	13:12	
healing [1] 7:5				indicator [4] 28:6	44:9	50:24		13:17 14:17	18:16	18:21	20:22
health [1] 9:7				67:25				22:1 22:16	23:17	24:10	25:4
healthy [2] 50:17	50:25			indirectly [1] 28:11				27:20 28:22	29:11	31:8	32:2
heard [2] 51:10	55:19			induce [3] 49:8	49:16	63:17		32:19 32:20	45:9	48:9	49:25
heart [17] 7:5	24:20	25:10		induced [1] 13:23				52:8 52:17	52:21	53:5	53:6
25:12 25:19	37:24	38:22	42:5	induction [3] 48:21	49:3	63:20		53:11 53:15	53:20	55:21	56:1
45:21 47:3	56:8	58:3	64:2	infarct [1] 10:20				57:14 57:15	59:6	59:7	63:21
64:14 65:22	66:2	66:12		infected [2] 18:17	51:19			64:6 67:1	69:2	69:23	70:11
Heights [1] 3:16				infection [7] 5:2	17:21	18:19		last [7] 3:23	5:9	22:7	43:8
Hemiplegia [1] 10:23				40:5 50:7	51:24	66:16		47:16 56:1	69:6		
hereby [1] 71:6				information [1] 48:24				late [19] 26:14	26:25	38:2	38:6
herein [2] 1:17	3:2			injury [1] 68:22				38:9 38:13	38:17	45:23	46:4
hereinafter [1] 3:4								46:13 46:23	46:23	47:2	47:3
hereunto [1] 71:17								47:21 57:12	57:17	57:22	57:25
								lates [1] 46:7			
								lawyer [1] 46:20			

lead [1] 29:1				69:16				months [2] 5:9 10:21			
learn [1] 49:1				McCaslin's [1] 55:12				morning [6] 3:8 20:22 22:23			
learned [5] 5:20 22:6 22:12				McClain [3] 15:11 21:18 53:14				33:17 54:3 64:8			
least [6] 19:4 31:19 32:18 35:9				McClain's [2] 4:24 16:15				most [3] 9:13 11:2 12:2			
47:6 47:18				McCracken [1] 3:15				mother [3] 51:7 59:2 59:12			
leave [2] 6:18 62:11				mean [14] 7:6 16:18 23:20				mother's [3] 16:15 39:25 40:4			
left [1] 42:2				23:21 27:17 42:25 44:11 46:16				move [1] 10:6			
legal [1] 1:22				46:18 55:9 55:11 55:15 63:12				movement [1] 37:17			
length [4] 52:20 53:2 58:23				65:21				Ms [9] 4:24 16:15 21:18 21:19			
63:21				meaning [1] 62:24				32:22 34:16 41:17 55:12 61:14			
less [2] 25:15 49:20				means [4] 14:20 24:24 25:2				must [1] 31:6			
level [2] 45:12 57:6				71:11				name [8] 3:9 3:17 3:23 11:8			
levels [1] 14:7				mechanics [1] 29:3				11:11 55:7 55:12 66:19			
license [1] 7:8				mechanism [2] 50:13 51:8				narcotic [1] 53:19			
likelihood [1] 49:18				mechanisms [1] 29:7				necessarily [3] 27:16 44:11 55:17			
likely [3] 31:2 46:6 46:9				meconium [9] 13:25 66:11 66:18				need [7] 17:23 23:21 44:4 44:5			
listen [3] 16:3 52:23 54:18				67:18 67:19 67:24 68:5 68:8				54:15 58:2 63:17			
liters [1] 14:13				68:12				needed [1] 42:12			
located [1] 55:18				medical [6] 7:8 7:21 9:25				negative [1] 68:20			
longstanding [2] 58:11 58:21				10:14 55:14 61:23				neither [1] 29:25			
look [19] 5:17 6:25 15:25 16:5				medicine [1] 49:15				neonatologist [1] 30:15			
16:11 27:5 33:7 33:23 34:7				meet [1] 66:3				nervous [2] 27:22 28:14			
35:9 35:15 36:6 43:11 58:17				member [1] 59:20				never [5] 23:25 24:1 46:11 60:17			
58:17 61:2 61:3 65:5 65:6				membranes [1] 67:3				67:11			
looked [6] 34:13 34:23 34:24				memory [4] 21:8 21:13 22:6				new [2] 15:23 42:4			
39:17 41:14 43:19				33:20				newborn [6] 30:1 30:3 30:11			
looking [5] 20:10 40:3 46:5				meningitis [1] 50:11				54:21 55:19 66:11			
46:7 69:10				mental [1] 9:10				next [7] 19:18 21:6 31:24 41:20			
looks [1] 39:14				mentioned [1] 19:22				42:21 63:9 65:4			
loosely [1] 66:10				message [1] 20:14				Nicole [1] 53:14			
Luczek [1] 12:14				methods [1] 26:18				night [3] 20:21 31:22 34:24			
lungs [1] 51:20				Michael [1] 2:3				nod [1] 4:20			
M-c-C-R-A-C-K-E-N [1] 3:15				Middle [1] 2:4				nods [2] 30:9 59:21			
M.D. [6] 1:9 1:13 1:16 3:1				midwife [13] 12:9 12:16 13:15				non-stress [1] 33:14			
70:23 71:7				14:24 15:13 16:20 17:7 24:5				nonreactive [1] 33:11			
majority [1] 9:23				29:11 52:11 56:10 70:10 70:13				nonreassuring [3] 24:16 24:25			
malpresentation [1] 13:19				midwife's [1] 18:4				25:5			
manage [1] 13:3				midwives [4] 8:25 12:21 12:22				nor [1] 29:25			
managed [1] 31:8				32:17				normal [5] 25:7 40:12 52:20			
management [4] 11:4 13:12 23:17				Mike [1] 21:2				52:24 53:1			
69:15				mild [1] 14:8				normally [3] 35:4 40:10 41:11			
managing [2] 48:12 70:11				milky [2] 67:13 67:15				Notary [3] 1:20 71:5 71:21			
mark [3] 6:14 6:19 36:1				milligrams [1] 57:11				note [3] 4:25 53:13 63:16			
marked [5] 6:21 6:24 36:3				mind [9] 6:14 6:16 19:8 20:25				noted [1] 59:16			
56:6 60:24				33:25 58:9 66:20 66:23 67:21				notes [3] 32:1 63:6 63:9			
Marymount [4] 22:4 32:13 40:11				minimum [2] 59:24 60:4				nothing [1] 71:9			
44:12				minute [1] 46:15				notice [1] 1:22			
maternal [3] 18:20 27:25 28:2				minutes [11] 25:14 25:14 25:20				notify [2] 32:18 32:20			
matter [2] 10:14 10:14				25:21 26:15 26:15 34:23 41:12				Novak [1] 11:10			
matters [3] 43:15 43:16 43:21				57:18 57:19 57:19				now [14] 6:23 8:3 10:13			
may [25] 5:25 9:7 9:10 10:18				mirror [1] 47:11				12:9 19:13 29:14 39:2 39:4			
15:7 15:7 15:17 15:25 18:10				Mishkind [1] 2:4				39:5 39:15 48:21 54:24 60:12			
25:7 32:14 33:8 36:11 36:12				missed [1] 61:4				NST [1] 33:8			
40:19 50:5 51:12 51:16 52:12				moderate [1] 14:8				number [10] 21:4 36:4 36:5			
58:1 59:18 63:1 63:14 63:16				modest [1] 63:20				42:14 53:3 55:6 57:17 61:17			
64:12				mom [3] 17:10 26:22 31:10				61:18 61:21			
McCaslin [34] 2:10 9:2 12:10				moment [1] 30:18				Nurse [24] 12:9 19:14 20:5			
15:13 19:15 20:5 20:7 20:13				monitor [9] 22:18 43:23 44:3				20:7 20:13 20:14 29:10 29:11			
20:14 21:19 24:5 29:10 29:11				44:5 44:8 44:10 44:18 45:1				29:25 30:12 30:16 30:23 31:8			
29:25 30:13 30:17 30:24 31:8				45:4				32:3 42:6 42:14 44:17 44:20			
32:3 32:22 34:16 41:17 42:6				monitoring [13] 9:12 10:3 16:17				48:25 50:22 52:11 62:21 69:12			
42:14 44:17 44:20 48:25 50:22				16:20 17:7 21:21 24:21 30:24				69:15			
52:11 61:14 62:21 63:16 69:12				34:18 34:22 44:1 46:12 69:22				nurses [3] 55:21 70:8 70:12			

o'clock [4] 56:12	43:20	54:2	56:7	pardon [7] 54:9 54:9	18:14 63:24	18:15 65:5	41:20	Pitocin [2]	54:6	59:6	
oath [1] 4:7				parentheses [2]	64:20	64:21		place [1] 43:23			
OB/GYN [1]	8:6			partial [1]	48:5			placenta [7] 49:22 50:8	29:4 59:1	49:12 66:17	49:19
object [3]	21:1	59:13	59:14	particular [8] 31:10 32:2	13:12 32:4	20:21 50:12	31:8 60:7	placentas [1]	49:21		
objected [1]	22:10			partner [11] 31:16 42:9	8:17 42:19	23:17 48:8	23:23 48:12	plaintiff's [1]	11:8		
objection [3]	10:17	21:16	59:16	48:18 50:17	52:4			plaintiffs [4] 3:2	1:7	1:17	2:2
obstetrical [2]	24:15	70:12		partum [1]	8:1			Plaintiffs' [3]	6:20	36:2	56:5
obstetrician [4] 60:6	23:22	59:25	60:5	party [1] 71:15				plan [9] 15:2 15:9 15:12	15:4 15:20	15:6 17:6	15:7 17:18
obstetricians [1]	32:17			pass [2] 8:8	68:4			planning [2]	19:17	41:25	
obstetrics [5] 9:16 37:9	5:2	5:21	9:13	patient [24] 32:12 32:18	20:3 32:24	23:11 33:17	23:11 34:7	player [1]	68:22		
obtain [1]	44:20			34:9 34:11	39:22	41:16	42:21	PLEAS [1]	1:4		
Occasionally [1]	49:14			42:24 43:23	50:16	53:11	54:2	pneumonia [1]	51:20		
occur [1] 15:9				57:13 58:6	59:24	68:25	69:1	point [3] 34:19	38:24	43:12	
occurred [2]	49:20	65:12		69:2				polyhydramnios [3] 70:3		14:3	14:6
occurring [1]	65:17			patients [7] 24:10 32:19	12:25 41:10	13:2 48:13	13:4	poor [2] 8:15	67:23		
occurs [4] 46:24	28:19	46:21	46:22	pattern [11] 25:6 27:1	17:2 36:12	25:1 37:25	25:2 39:14	position [1]	26:22		
off [4] 19:6	56:2	61:23	62:18	44:1 47:12	58:3			possibility [1]	17:21		
offered [1]	69:3			patterns [12] 25:10 26:11	16:17 26:13	16:21 27:3	24:17 39:7	possible [1]	50:9		
office [7] 12:20 32:16 49:8	12:22 71:18	13:10	24:1	42:5 45:9	46:10	47:25		possibly [2]	38:1	38:2	
offices [1]	1:22			peak [2] 46:25	47:4			Post-date [2]	49:5	49:6	
Ohio [11] 1:1 2:5 2:8	1:18 3:13	1:21 71:2	1:24 71:6	pediatrician [1]	30:5			post-term [1]	49:3		
71:18 71:22				peers [1] 9:20				postponed [1]	23:9		
once [2] 17:19	49:23			pelvic [1]	23:2			potential [1]	70:4		
one [12] 6:3 11:19 21:4	6:8 22:4	7:22 28:24	10:19 32:18	pending [2]	11:6	11:18		potentially [1]	51:3		
33:25 38:13	48:12			people [10] 24:15 24:15	5:20 24:16	8:14 25:22	14:12 25:23	practical [1]	35:3		
ongoing [2]	51:2	58:20		28:10 28:16				practice [11] 23:16 24:17	7:5 32:7	18:10 32:16	19:5 32:20
operated [1]	23:12			Pepper [1]	3:13			35:4 48:11	49:10		
operating [1]	22:3			per [1] 51:18				practicing [2]	41:3	41:5	
operative [1]	23:1			percent [4] 68:11	49:21	68:4	68:11	precise [3]	11:2	22:17	58:5
opinion [9] 50:2 57:16	5:13 58:4	9:15 68:21	45:6 69:21	percentage [1]	68:6			precisely [1]	51:8		
69:24				perform [1]	49:12			preferable [1]	40:22		
opinions [3]	5:18	5:20	60:11	performed [1]	33:8			pregnancy [5] 52:22 63:17	13:22	18:17	18:19
opportunity [2]	30:23	33:7		Perhaps [1]	6:5			pregnant [3]	5:14	7:25	13:2
opposed [1]	47:20			period [5] 58:7 58:13	20:13	41:15	53:4	premature [2]	13:17	18:16	
options [2]	42:14	42:18		permissible [1]	57:8			prenatal [1]	31:11		
orange [2]	67:9	67:11		permit [2]	21:12	57:13		Prenatally [1]	31:10		
order [2] 34:8	52:12			persistent [3]	26:14	57:21	57:25	preparation [1]	4:22		
ordered [3]	39:23	40:6	41:13	person [5] 32:21 58:3	18:15	32:3	32:21	preparing [1]	22:13		
ordering [1]	41:10			personnel [1]	55:11			presence [6] 57:9 57:24	17:8 67:24	54:6	54:9
otherwise [2]	4:14	71:15		pertinent [1]	35:6			present [9] 54:25 55:4	2:9 55:15	14:14 56:24	30:15 58:24
outside [1]	55:20			pH [3] 44:12	44:15	44:21		70:11			
ovarian [1]	23:2			philosophy [1]	12:20			presentation [1]	13:19		
own [4] 12:25	13:2	44:17	44:20	phone [3]	20:5	20:9	32:3	presented [1]	10:9		
owner [1]	3:19			phrased [1]	4:9			presenting [1]	13:18		
owners [1]	3:21			physical [4] 52:10	9:10	27:20	34:17	pressure [1]	34:14		
oxygen [9] 29:5 49:24	26:23 51:7	28:22 65:23	29:1 66:4	physicians [1]	8:18			primary [1]	13:1		
66:25				pick [1] 4:19				probability [2]	50:5	53:21	
oxygenation [2]	48:6	51:3		picture [5] 46:5 46:7	27:5	27:7	42:15	problem [4] 54:1	10:4	10:25	23:12
oxytocin [1]	63:20			Pike [1] 3:13				problems [4] 59:5	13:22	13:24	14:14
p.m [1] 52:2				Pink [1] 30:5				Procedure [1]	1:18		
page [1] 63:9								proceed [3]	21:3	36:25	57:14
pain [1] 23:2								process [4]	28:23	49:19	49:25
pamphlet [1]	68:25										

68:9					referring [2]	26:21	61:17		room [2]	22:3	55:20		
professional [10]	1:19	3:17	3:19		reflect [6]	26:13	27:9	27:13	routine [3]	40:16	48:11	49:8	
3:21	8:12	8:19	12:13	12:18	27:15	55:3	60:4		rules [6]	1:18	3:3	4:5	13:9
71:4	71:22				reflected [2]	29:16	61:5		13:10	21:10			
progress [3]	41:24	43:5	63:8		reflects [4]	7:4	28:11	28:11	run [1]	55:22			
properly [1]	25:4				51:25				rupture [1]	67:8			
prophylactic [1]	18:11				Registered [3]	1:19	71:4	71:22	sac [1]	14:13			
prophylactically [2]	5:14	19:4			related [1]	53:23			sake [1]	69:5			
prophylaxis [1]	60:12				relates [1]	11:15			saw [4]	32:24	33:16	42:21	54:24
proportionate [1]	53:24				relative [3]	16:20	18:11	71:14	says [2]	36:19	69:1		
provided [1]	5:22				reliable [5]	9:13	9:20	10:1	scalp [4]	44:12	44:15	44:21	44:21
prudence [1]	57:14				10:5	44:8			schedule [1]	23:25			
prudent [2]	59:25	60:5			remember [5]	16:8	20:9	21:18	schedules [1]	24:1			
Public [3]	1:20	71:5	71:21		22:5	49:2			scope [2]	12:23	12:24		
pull [2]	33:13	62:3			remembers [1]	21:7			se [1]	51:18			
Pus [1]	67:16				Reminger [4]	1:23	1:23	2:7	seal [1]	71:18			
pushing [1]	26:23				2:7				second [14]	45:9	52:17	52:21	
put [4]	21:15	44:25	45:3	69:1	rendered [1]	59:23			53:5	53:6	53:11	53:15	53:20
qualified [1]	71:6				rendering [2]	52:1	52:3		56:23	56:25	57:4	63:22	63:25
quality [1]	44:6				repeat [1]	39:12			69:6				
questions [3]	11:15	16:12	62:17		repeating [1]	19:8			Secondly [1]	21:9			
R.N [1]	2:3				repetitive [3]	45:8	45:19	57:12	seconds [2]	47:6	47:18		
raises [2]	37:13	37:15			rephrase [1]	4:11			section [1]	55:10			
rate [11]	25:12	25:19	37:24	38:22	Reporter [3]	1:20	71:5	71:22	see [11]	31:10	35:19	36:18	37:2
45:21	47:4	56:8	58:3	64:2	required [2]	23:19	23:20		37:12	38:9	38:16	43:1	45:8
64:14	66:12				requirement [1]	55:14			55:25	56:16			
rather [2]	13:14	52:3			requires [1]	30:12			seeing [4]	7:25	21:18	38:1	
reached [1]	17:10				Reserve [1]	7:14			54:21				
reaches [3]	49:9	53:5	53:6		resilience [1]	59:1			send [1]	66:3			
reactive [2]	33:11	33:14			respect [1]	59:15			sends [1]	66:2			
read [14]	19:9	19:11	34:2	40:25	respiratory [2]	30:4	30:13		sense [1]	4:10			
41:1	54:12	54:14	54:17	54:19	responding [4]	16:5	26:16	27:19	sentence [1]	65:4			
63:10	68:7	69:7	69:8	70:19	33:24				September [1]	71:23			
reading [2]	33:25	44:6			response [1]	39:25			session [1]	4:6			
realize [1]	68:23				responsibility [2]		14:22	52:8	set [5]	1:25	59:24	60:1	71:10
really [3]	58:17	58:25	59:3		restate [2]	4:11	67:22		71:17				
reason [8]	8:3	30:8	30:11		result [3]	32:2	32:2	32:4	setting [1]	19:3			
39:24	43:18	43:22	45:15	66:24	results [2]	40:8	40:21		seven [5]	16:24	17:10	17:13	17:15
reasonable [1]	38:22				resuscitated [1]	30:22			64:16				
reasons [1]	21:2				resuscitation [3]	26:17	26:20	66:12	seventeen [4]	41:6	41:7	63:21	
reassess [1]	43:2				retracted [4]	5:4	5:5	5:6	63:24				
reassuring [3]	33:10	69:22	70:1		5:7				severe [1]	14:8			
recalls [1]	21:13				retrospect [3]	31:7	59:10	59:14	severely [2]	66:21	66:24		
recap [1]	64:6				return [3]	45:16	45:20	64:25	severity [2]	14:5	14:7		
received [2]	17:25	48:25			returned [1]	38:23			sheet [2]	36:5	55:6		
receiving [1]	24:5				returns [2]	47:6	47:18		short [4]	44:9	56:4	63:3	68:13
recently [1]	5:9				review [7]	4:4	20:20	30:24	shoulder [1]	66:11			
recess [2]	56:4	63:3			33:21	35:1	43:7	53:13	showing [1]	25:7			
recollection [4]	19:14	20:24	29:9		reviewed [6]	4:22	4:24	22:18	sick [1]	29:23			
29:17					34:13	34:18	34:21		side [1]	10:24			
recollections [1]	29:20				reviewing [3]	21:5	21:8	21:20	sign [1]	66:18			
recommend [1]	53:10				right [27]	4:20	10:13	10:24	signal [1]	66:2			
recommendations [2]	60:3	60:8			21:16	27:6	27:8	27:12	signature [1]	55:10			
record [12]	16:12	19:7	19:11		34:12	34:20	35:25	36:17	signed [1]	66:19			
34:2	41:1	51:25	54:19	56:3	42:10	45:7	45:14	50:14	significance [4]	36:9	67:5	67:10	
61:23	62:7	62:15	69:8		52:25	55:20	57:23	60:15	68:21				
records [2]	31:12	55:14			61:18	61:25	64:11		signify [3]	40:5	55:9	67:15	
redosed [1]	36:19				rise [1]	45:12			signing [1]	61:23			
reduced [3]	15:5	15:8	71:11		risk [5]	13:5	13:9	18:25	signs [2]	25:7	40:4		
reduces [1]	51:3				51:6				similar [1]	39:7			
reference [1]	36:18				risky [1]	53:20			simply [1]	42:24			
					Road [1]	3:15							

sit [2]	30:23	31:5			58:20	68:1				thinking [1]	49:11			
sitting [1]		55:20			stressed [3]	36:11	65:24	66:1		third [1]	63:25			
situation [6]		14:4	42:11	43:2	stresses [1]	25:4				thirteen [1]	10:21			
	49:14	53:12	54:4		stressors [1]	27:20				thirty [7]	25:14	25:21	26:15	41:12
six [3]	17:10	64:13	66:16		strictly [1]	26:5					46:15	47:17	57:19	
sixty [1]	26:15				strike [3]	51:4	51:10	69:23		thought [4]	6:4	16:25	48:3	
size [1]	63:18				strip [3]	21:21	22:18	36:7			60:9			
sleep [1]	36:12				strips [18]	30:25	33:8	34:8		three [7]	12:11	14:12	53:9	60:21
smaller [1]		14:14				35:10	35:13	35:15	35:18		60:23	63:25	66:10	
someone [5]		20:10	20:14	46:11		39:18	41:15	43:8	43:18	through [11]	11:23	15:3	27:21	
	49:9	55:22				50:24	65:15	65:17	69:10		29:3	29:4	34:24	35:5
Sometime [2]		5:10	42:22		students [1]	7:21					48:4	50:11	52:22	36:12
sometimes [1]		28:19			studied [1]	46:12				throughout [3]	24:14	29:11	69:22	
somewhere [1]		15:5			subject [3]	10:2	10:13	10:14		times [1]	56:8			
soon [3]	22:20	41:21	41:23		subscribe [1]	44:7				timing [2]	47:19	47:19		
sooner [1]		40:21			substance [1]	11:16				titles [1]	5:1			
sorry [4]	8:15	17:15	39:12	54:10	Suburban [1]	3:18				today [2]	9:14	50:3		
sort [1]	21:7				such [4]	13:19	13:22	21:9	23:16	today's [1]	6:10			
sounds [1]		47:10			suggestions [1]	60:9				took [1]	8:9			
South [1]		3:18			summaries [2]	5:19	61:12			towards [1]	68:21			
space [1]	38:5				summarizing [1]		65:16			town [1]	31:16			
speaking [4]		26:5	54:5	56:22	summary [3]	61:19	61:20	62:18		tracing [3]	25:10	34:18	44:5	
	59:9				supervising [1]	8:2				tracings [1]	34:22			
Spearman [1]		1:6			surgery [14]	22:4	23:3	23:5		tract [2]	18:19	51:22		
specific [5]		15:7	40:2	41:22		23:7	23:13	23:15	23:16	trained [3]	37:9	44:23	44:25	
	46:17	57:16				23:22	23:25	24:1	24:4	training [1]	12:24			
specifically [2]		16:19	60:10			42:3				transcribed [1]	71:12			
speculating [1]		52:15			surprised [3]	29:22	29:23	30:17		transcription [1]	71:14			
spelled [1]		9:3			Suzanne [1]	2:3				treated [1]	17:23			
spells [1]	3:23				sworn [2]	3:4	71:8			treating [1]	5:13			
spent [1]	21:4				system [2]	27:23	28:14			trials [1]	11:19			
spontaneously [1]		66:13			tachycardia [12]	25:19	25:23	26:5		true [1]	68:3			
SS [2]	1:1	71:2				26:5	26:10	55:25	56:15	truth [3]	71:8	71:9	71:9	
St [2]	1:23	2:8				65:8	65:10	65:20	66:5	turn [1]	10:2			
stable [1]		39:14			takes [3]	23:17	40:10	41:11		twenty [5]	47:6	47:17	57:19	
stage [16]		45:9	52:17	52:21		65:15	12:24	15:22	40:14		63:21	68:3		
	53:5	53:6	53:11	53:15	teaching [3]	7:21	7:23	46:11		twenty-five [1]	68:4			
	56:23	56:25	57:4	63:22	team [2]	30:5	30:13			two [6]	7:17	11:19	40:14	40:21
	63:25	63:25	64:1	63:23	Technical [2]	4:25	5:17				58:14	61:12		
stained [1]		13:25			tells [1]	68:8				type [6]	24:5	27:1	42:11	45:22
standard [6]		30:7	30:12	59:24	temperature [10]		16:15	16:16			53:7	53:24		
	60:1	60:5	60:17			18:20	28:2	34:14	39:6	types [2]	28:4	34:17		
start [6]	22:11	23:3	35:13	35:17		64:12	66:16	69:2	40:1	typewriting [1]	71:13			
	35:23	47:24			temporary [1]	48:4				ultrasound [1]	63:18			
started [2]		22:12	38:5		ten [2]	34:23	57:18			umbilical [1]	48:5			
stat [5]	40:6	40:11	40:15	40:22	tendency [1]	70:12				unavailable [1]	42:8			
	41:10				term [8]	24:15	25:5	25:6	26:19	unclear [4]	25:8	37:25	38:3	
state [7]	1:1	1:20	50:4	60:10		26:21	36:7	36:10	44:9		44:5			
	71:2	71:5	71:22		terminate [1]	57:15				uncorrected [1]	62:8			
station [1]		55:21			terms [5]	14:10	15:19	24:13	24:23	under [10]	1:18	3:3	4:6	
status [2]		24:6	28:11			35:3					9:9	15:8	25:10	55:6
stenotype [1]		71:12			test [1]	33:14					63:8	71:13		60:6
Stephen [1]		12:14			testify [1]	71:8				understand [7]	4:7	8:13	37:20	
still [4]	11:6	39:7	64:17	65:8	tests [1]	34:8					48:22	51:16	57:5	61:7
stop [3]	33:6	47:24	64:4		textbook [1]	9:22				understood [1]	4:15			
stopped [1]		8:3			textbooks [2]	9:12	9:16			unfair [1]	59:17			
Stork [1]	4:25				Thank [2]	70:15	70:17			University [2]	7:14	30:22		
straightens [1]		38:8			therapeutic [1]	26:18				unless [3]	4:14	60:10	68:8	
strep [7]	5:4	5:14	18:18	18:18	therapy [1]	30:4				unusual [1]	40:15			
	50:7	60:12	68:22		Thereupon [7]	6:12	6:20	19:6		unwell [1]	27:13			
stress [10]		27:16	27:18	27:19		31:13	36:2	56:2	56:5	up [13]	4:19	15:2	19:3	22:16
	28:4	45:17	48:6	58:11							24:9	34:18	39:2	43:1
														50:16

50:18	60:1	66:3	69:18	Women's [1]	3:18		
updating [1]	15:22			word [3]	10:5	56:17	65:21
upset [1]	45:4			worrisome [1]	36:15		
uptake [1]	29:5			write [1]	32:8		
urgent [2]	23:8	42:11		writing [3]	15:5	15:8	71:11
urinary [1]	18:18			written [1]	39:6		
used [1]	18:14			years [3]	7:17	12:11	41:4
using [4]	12:23	14:10	24:14	yell [1]	55:22		
usual [1]	49:10			yellow [8]	67:4	67:5	67:9
usually [4]	27:15	28:9	28:9	67:11	67:12	67:13	67:14
60:1				yourself [1]	42:18	67:15	
uterus [2]	27:21	29:4					
utilize [4]	12:21	12:22	44:15				
63:11							
utilized [1]	13:3						
utilizing [1]	18:11						
vaginal [1]	42:1						
vaginally [1]	58:4						
vague [1]	25:5						
vaguely [3]	11:1	21:19	25:6				
variability [11]	27:8	27:11	27:12				
28:5	28:10	28:17	36:10				
38:6	44:9	64:3					
variable [23]	38:2	38:7	38:11				
38:12	45:24	46:1	46:13				
46:24	47:9	47:14	47:19				
47:21	47:22	47:24	48:2				
58:25	59:4	64:19	65:5				
			65:6				
variables [2]	46:6	46:9					
various [1]	13:4						
verbally [1]	4:19						
verbatim [1]	63:10						
Veverka [1]	2:3						
via [3]	11:3	32:3	44:21				
visits [1]	8:1						
vitae [3]	5:23	7:1	7:4				
vs [1]	1:8						
Wait [1]	54:9						
wants [1]	39:9						
weakness [1]	10:22						
week [3]	7:22	22:7	63:17				
weeks [4]	49:9	49:13	49:16				
49:18							
weight [1]	63:19						
Western [1]	7:14						
WHEREOF [1]	71:17						
white [1]	40:5						
whole [4]	11:23	34:24	64:6				
71:9							
William [3]	8:22	11:10	11:11				
within [17]	5:9	12:20	12:22				
12:23	13:10	13:10	14:13				
17:12	18:1	18:4	18:24				
19:23	20:15	28:22	41:19				
without [3]	1:21	17:8	45:4				
witness [14]	6:2	22:8	22:14				
30:9	31:14	35:22	37:21				
54:13	54:16	59:21	63:1				
71:17			70:17				
woman [9]	13:17	23:1	52:8				
52:18	52:20	52:21	53:4				
53:14			53:5				
women [2]	5:14	7:25					