

1 State of Ohio, )  
 2 County of Cuyahoga. ) SS:

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 Steven Maksym, a Minor, etc., )  
 7 et al., )  
 8 Plaintiffs, ) Case No. 243093  
 9 vs. ) Judge Michael Corrigan  
 10 Joseph A. Jamhour, M.D., et al., )  
 11 Defendants. )  
 12 - - -

12 DEPOSITION OF JOSEPH A. JAMHOUR, M.D.

13 MONDAY, AUGUST 16, 1993

14 - - -

15 The deposition of Joseph A. Jamhour, M.D., a  
 16 Defendant herein, called by the Plaintiffs for  
 17 examination under the Ohio Rules of Civil Procedure,  
 18 taken before me, Ivy J. Gantverg, Registered  
 19 Professional Reporter and Notary Public in and for  
 20 the State of Ohio, by agreement of counsel and  
 21 without further notice or other legal formalities,  
 22 at the offices of Jacobson, Maynard, Tuschman &  
 23 Kalur, 1001 Lakeside Avenue - Suite 1600, Cleveland,  
 24 Ohio, commencing at 10:05 a.m., on the day and date  
 25 above set forth

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Michael F. Becker, Esq.  
4 Joanne Sysack, Legal Assistant  
5 Michael F. Becker Company  
134 Middle Avenue  
Elyria, Ohio 44035

6 and

7 Stephen J. Charms, Esq.  
8 Standard Building - Suite 600  
Cleveland, Ohio 44113

9 On behalf of Defendants Joseph A. Jamhour, M.D.;  
10 Murty S. Vuppala, M.D. and Pediatric Health  
Center:

11 William D. Bonezzi, Esq.  
12 Jacobson, Maynard, Tuschman & Kalur  
1001 Lakeside Avenue - Suite 1600  
13 Cleveland, Ohio 44114

14 On behalf of Defendant Deaconess Hospital of  
Cleveland:

15 Dale E. Markworth, Esq.  
16 Mansour, Gavin, Gerlack & Manos  
2150 Illuminating Building  
17 Cleveland, Ohio 44113

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

JOSEPH A. JAMHOUR, M.D.

a defendant herein, called by the plaintiffs for examination under the Rules, having been first duly sworn, as hereinafter certified, was deposed and said as follows:

CROSS EXAMINATION

BY MR. BECKER:

Q. Doctor, would you state your full name for us, please?

A. It is Joseph A. Jamhour, J-a-m-h-o-u-r.

Q. What is your home address, sir?

A. 3379 Fairhill Drive, Rocky River.

Q. And you are in partnership with Dr. Vuppala?

A. Yes.

Q. We have obtained the business addresses Friday.

Have you ever had your deposition taken before?

A. I have had a deposition taken before.

(Thereupon, Mr. Charms left the room.)

Q. Just to review things, this is a question and answer session under oath. It is important that you understand the question that I ask you.

If you don't understand the question or if it

1 is inartfully phrased, or whatever, tell me so and I  
2 will attempt to rephrase or restate the question.

3           However, unless you indicate otherwise to me,  
4 I am going to assume that you fully understood the  
5 question that has been posed; fair enough?

6 A.       Yes.

7 Q.       And it is also important that you answer  
8 verbally, because it is difficult for Ivy here to  
9 pick up a head nod, okay?

10 A.       Okay.

11 Q.       Do you have a vitae, Doctor, that is handy?

12 A.       I did not bring one with me, no.

13 Q.       Tell me something about your medical  
14 education?

15 A.       My medical school was in American University  
16 of Beirut, Lebanon. I did my residency here at Case  
17 Western Reserve and Metro General Hospital. In '76,  
18 I graduated, and from '76 until 1980, I was doing my  
19 residency and my chief residency.

20 Q.       So you went to medical school in Lebanon?

21 A.       Right.

22 Q.       You did no residency in pediatrics there?

23 A.       The last year of medical school there is an  
24 internship year.

25 Q.       Okay.

1           And what year did you finish medical school  
2   there?  
3   A.       In 1976.  
4   Q.       And then you came to the States?  
5   A.       Right.  
6   Q.       And you started your residency in pediatrics  
7   at Case?  
8   A.       Right, and Metro General Hospital, it was a  
9   combined program.  
10   Q.       And you stayed -- it took you four years, '76  
11   to '80?  
12   A.       Right. My last year was a chief resident.  
13   Q.       Are you an American citizen?  
14   A.       No, I am not.  
15   Q.       When you finished your residency in 1980, you  
16   began working or practicing pediatrics?  
17   A.       Right.  
18   Q.       Tell me your work history up until today?  
19   A.       I have been in private practice with  
20   Dr. Vuppala until today; from 1980 until today.  
21   Q.       Are you Board certified in pediatrics?  
22   A.       I am Board certified.  
23   Q.       And did you pass your examinations the first  
24   time you took them?  
25   A.       Right.

1           One addendum to that. I think the oral --  
2           the written, yes. The oral, I did it the second  
3           time.

4           Q.       Have you ever had your license to practice  
5           medicine called into question?

6           A.       No.

7           Q.       Have you ever authored or coauthored any  
8           medical journal article?

9           A.       No.

10          Q.       Do you have any association with Case Western  
11          Reserve?

12          A.       I am a clinical instructor.

13          Q.       And would you explain that to me?

14          A.       That is a title that we get. We obviously  
15          have privileges if we desire, but at this point in  
16          time -- I mean, I don't have an active teaching  
17          participation with Case Western, but it is a title  
18          that we -- that is given.

19          Q.       Have you had active teaching in the past, or  
20          have you had teaching in the past, where residents  
21          would follow you around, or you would lecture to  
22          them?

23          A.       As a chief resident.

24          Q.       And have you ever lectured to your residents  
25          on the field, on the subject matter of sepsis,

1     jaundice, things like that?

2     A.     I don't remember it specifically, but  
3     certainly as a chief resident, we are constantly  
4     exposed to that.

5                     (Thereupon, Mr. Charms re-entered the  
6     room.)

7     Q.     Would your lectures be reduced to writing or  
8     outline form?

9     A.     Again, I don't remember specifically, but a  
10    lot of teaching does occur as a chief resident.

11    Q.     Do you think it may have been reduced to  
12    outline form?

13    A.     Possibly.

14    Q.     Would you still have that in existence?

15    A.     I will have to look.

16    Q.     Would you look for us, and see if you have  
17    any of your outlines or lectures while you were a  
18    chief resident?

19    A.     I would be happy to.

20    Q.     And let Mr. Bonezzi know what you find, all  
21    right?

22    A.     Sure.

23    Q.     Would you define a few terms for me. First  
24    of all, what is hyperbilirubinemia?

25    A.     Hyperbilirubinemia is a bilirubin level above

1 the normal range.

2 Q. What is a normal range for a newborn, full  
3 term?

4 A. Well, it is usually above a level of 2 to  
5 2.5, is designated as an elevated level, for  
6 anybody.

7 Q. And what does the term, kernicterus, mean?

8 A. It is when you have symptoms from elevated  
9 unconjugated bilirubin.

10 Q. And what may those symptoms be?

11 A. The symptoms could be seizures, could be  
12 lethargy, vomiting.

13 Q. And what is septicemia?

14 A. Septicemia is a -- it is a difficult term to  
15 define. It is usually associated with an infection,  
16 either due to the actual organism, or due to the  
17 products, the exogenous products that this organism  
18 may tend to produce. Also it may be associated with  
19 endogenous structures. It is a symptom complex.

20 Q. Now, you were the pediatrician that took care  
21 of Steven Maksym?

22 A. Right.

23 Q. Can you tell me what days, while he was in  
24 Deaconess, that you actually had hands-on care?

25 A. I believe I saw him on the 15th of August,



1 '89, and on the 16th.

2 Q. Incidentally, Doctor, during the course of  
3 this deposition, if you would rather refer to the  
4 chart before responding, I want you to know that you  
5 are more than free to do so.

6 A. Okay.

7 Q. You saw him two days; you didn't see him on  
8 the last day he was there, the 17th?

9 A. Right, two days.

10 Q. And did you detect any abnormalities in  
11 Steven, during the course of your hands-on care?

12 A. Well, my physical exam was apparently normal,  
13 and on the second exam, he did show some facial and  
14 truncal jaundice.

15 **a.** Can you tell by the chart at what -- excuse  
16 me.

17 A. I have to come to my exam. Can I just refer  
18 to that?

19 Q. Sure.

20 A. Okay, your question is?

21 Q. My question is, can you tell me at what hour  
22 of life you first made the assessment?

23 A. Generally we will tend to examine our  
24 patients in the evening, after office hours, or  
25 after we finish from our office. Usually in the

1 time between like about 6:00 and 7:00 in the  
2 evening.

3 Q. Okay.

4 A. So probably -- he was born 1:00 o'clock in  
5 the morning; is that right?

6 MR. BONEZZI: You were contacted at  
7 5:25 a.m., which would have been subsequently  
8 to when his birth occurred.

9 A. (Continuing) At 5:25, generally what they do  
10 is they contact our answering service, and the  
11 answering service, if the baby has no apparent  
12 problems, they would wait until the office opens and  
13 transfer the information to our receptionist at that  
14 time. And we would be told that there is a new  
15 baby.

16 Q. And you did not detect any abnormality in his  
17 eyes; is that correct?

18 A. No. His eyes were normal.

19 You mean on the first physical?

20 Q. Right.

21 A. On the first physical exam?

22 Q. Right.

23 A. There was no evidence of any problems at that  
24 time, on this exam.

25 Q. What does the physical assessment consist of;

1 can you give me an idea what you may be doing to  
2 complete that physical assessment?

3 A. Yes. Basically the entire assessment -- of  
4 the patient, or you want the physical exam, per se?

5 Q. Of the patient.

6 A. Of the patient.

7 We would ask the nurses if there are any  
8 problems, any perinatal problems, any maternal  
9 fever, any prolonged ruptured membranes, any  
10 difficulty during delivery.

11 There is a sheet -- a delivery room sheet, we  
12 would go over that to see what his Apgar scores  
13 were, the time of ruptured membranes, and the type  
14 of delivery, and if the mother received any form of  
15 medications.

16 Also, it would briefly tend to assess the  
17 mother's course during pregnancy, whether there were  
18 any problems at that period of time.

19 Q. This would all be before you do the hands-on  
20 physical exam?

21 A. That is right.

22 Q. All right.

23 A. Then we would assess his chart, his vital  
24 signs, and how the baby has been behaving during  
25 that time, and we would even ask the nurses if they

1 have any concerns.

2 Then we would examine the baby.

3 Q. Now, what would that examination consist of?

4 A. Well, it will be a compete exam. The baby

5 would be undressed, and the baby would -- basically

6 when I examine the baby, I examine their hearts

7 first, because they are usually quiet, and I undress

8 the babies, rather than have them undressed, slowly,

9 and make sure that there are no murmurs. It is

10 difficult sometimes, because the baby is crying.

11 And if the baby is crying, it is difficult to hear

12 if there are any murmurs.

13 So I would do the cardiovascular exam first.

14 Q. Okay.

15 A. Then the second exam would be the abdomen.

16 Q. What would your examination of the abdomen

17 consist of?

18 A. I would look at the baby, check if the baby's

19 abdomen is soft, if there are any enlarged liver,

20 enlarged spleen, if there are any masses, if the

21 bowel sounds were normal.

22 Q. Okay.

23 A. And if there are any hernias.

24 Q. So that would complete the abdomen workup?

25 A. Yes.

1 Q. What then would you do?

2 A. Well, as part of the cardiovascular, you also  
3 check the pulses.

4 And then I would check the neck, the eyes,  
5 the oropharynx, the ears, the fontanel, the muscle  
6 tone, and his reflexes, and check his extremities.

7 Basically we would go through this routine --  
8 the lungs.

9 Would you like me to go over these one by  
10 one?

11 Q. Well, what I want to understand is, you would  
12 normally complete your physical exam first in the  
13 way that you just described it, and then you  
14 would --

15 A. In great detail.

16 Q. And then you would go ahead at a later date,  
17 or later time, maybe that day, and then complete  
18 that form?

19 A. We would complete that form immediately after  
20 we finish examining the baby.

21 Q. But the examination would be complete before  
22 you begin that form?

23 A. Definitely.

24 Q. Now, what time do your office hours begin?

25 A. What time --

1 Q. In the day.

2 A. -- does my secretary come, is that what you  
3 mean?

4 Q. What time do you begin seeing patients in  
5 your office?

6 A. It did vary. We may begin at 1:00 o'clock,  
7 or we may begin at 10:00 o'clock in the morning,  
8 depending on the day.

9 Is there any particular day you would like?

10 Q. I just want to know how you and your partner  
11 work it. You take one day and your partner takes  
12 the other day, and you alternate between the office  
13 and hospital?

14 A. We have two office locations. Basically he  
15 would be in one and I would be in the other.

16 Q. Okay.

17 A. Wednesdays one person works, and weekends,  
18 one person works.

19 Q. So when do you normally do your hospital  
20 visits, or rounds?

21 A. At Deaconess, it is usually -- usually in the  
22 evening, most of the time, or in the afternoons.  
23 Occasionally we may do it in the morning, if we  
24 don't have our office hours at that time.

25 Q. Now, you were the on call pediatrician at the

1 time this baby was born; is that how -- is that your  
2 understanding as to how you were deemed to take  
3 coverage of this child?

4 A. I believe so. I am really -- I didn't go  
5 into details to check that, but it is a possibility,  
6 yes.

7 Q. What other pediatricians besides you and your  
8 partner had on call privileges at Deaconess, could  
9 you name a few?

10 A. Probably Dr. Liang, Dr. Ayala, Dr. Kepler,  
11 Dr. Shah.

12 Would you like more?

13 Q. No, that is fine, Doctor.

14 Doctor, what medical journals articles do you  
15 subscribe to in pediatrics?

16 A. Pediatrics.

17 Q. That is the name of it, Pediatrics?

18 A. Yes.

19 Q. Any others?

20 A. We also read the Journal of Pediatrics,  
21 Lancet, but I don't subscribe to those.

22 Q. Which journal articles would you consider the  
23 best?

24 MR. BONEZZI: Objection. On what  
25 subject matter?

1                   You are asking for articles. What  
2                   subject matter?

3       Q.       (Continuing) Strike that question.  
4                   Which journals would you consider the most  
5                   reliable in pediatrics?

6       A.       Pediatrics, and the Journal of Pediatrics.

7       Q.       And would you consider them authoritative?

8                   MR. BONEZZI: Objection to that term.  
9                   Go ahead and answer.

10      A.       They are guidelines.

11      Q.       You would not consider them authoritative, or  
12                would you consider them authoritative?

13                MR. BONEZZI: Objection.

14      A.       They are usually done with a great deal of  
15                research. Many opinions that they have, later on  
16                goes to show to the contrary, or to difference. So  
17                I mean, they are good guidelines, very good  
18                guidelines.

19      Q.       You have indicated that you have had your  
20                deposition taken before. Under what circumstances?

21      A.       We were taking care of a newborn, I think it  
22                was in '84, '85. We were not directly involved in  
23                the action that was done, it was a deposition to --  
24                I think it was a premature baby -- no, it was a  
25                C-section, and the baby turned out to be early,



1 premature, and the mother at that time was unhappy  
2 with the obstetrician.

3 Q. So you weren't a named party?

4 A. We were not a named party.

5 Q. I understand.

6 Now, as the attending for Steven Maksym, what  
7 did you feel your duties and responsibilities to be  
8 during his stay at Deaconess?

9 A. I didn't understand.

10 Q. Because you were on call, you became Steven  
11 Maksym's attending pediatrician --

12 A. Right.

13 Q. -- during the course of his hospital stay at  
14 Deaconess, correct?

15 A. Yes.

16 Q. What, in your mind, were your duties and  
17 responsibilities because of that?

18 A. His complete health care.

19 Q. Okay.

20 A. We don't even ask whether we got the patient  
21 as an on call physician, or if it was referred to  
22 us, or even if we are continuing the care of the  
23 patient.

24 Do you have any independent recollection of  
25 Steven Maksym, aside from the chart?

1 A. Vaguely.

2 Q. What is your vague recollection?

3 A. He is among one of the many infants that I

4 took care of.

5 Q. Do you remember anything more than that about

6 him?

7 A. Specifically, no.

8 Q. Do you remember anything about Steven

9 Maksym's parents?

10 A. No, I don't.

11 I mean, specifically?

12 Q. Do you recall any conversations you may have

13 had with Steven Maksym's parents?

14 A. We always discuss the baby after I examine

15 the baby.

16 Q. Okay.

17 A. Probably on two occasions, it would have

18 taken place.

19 Q. So what you are telling me is you don't have

20 any specific recollection of conversations with the

21 parents, but you generally have a conversation with

22 the parents of the child?

23 A. We always do. I always do.

24 Q. But you don't have any specific recollection

25 of any conversations with them?

1 A. No.

2 Q. Do you agree that increasing jaundice can be  
3 a sign of increasing bilirubin?

4 A. Jaundice, yes, it could be a sign of  
5 increasing bilirubin.

6 Q. And do you agree that increasing bilirubin  
7 level may be a sign of sepsis?

8 A. Could be.

9 Q. Do you agree that increasing jaundice may be  
10 the only sign of a bacterial infection in a newborn?

11 A. No.

12 Q. What other signs must you see for there to  
13 be, within your differential, bacterial infection or  
14 sepsis within a newborn?

15 MR. BONEZZI: Objection to the form of  
16 the question.

17 Go ahead and answer.

18 A. Could you repeat that?

19 Q. I am gathering by way of your previous answer  
20 that you feel that for there to be within your  
21 consideration of sepsis or a bacterial infection in  
22 a newborn, signs in addition, signs or symptoms in  
23 addition to jaundice.

24 And my question is, what are those other ones  
25 that you feel must be present?

1 A. Well, if the baby is lethargic, if the baby  
2 has a poor suck, if there is a temperature  
3 instability. In the context, if the mother had  
4 prolonged ruptured membranes, if there was maternal  
5 fever, if there was any form of instrumentation,  
6 like intubation, catheters installed, inserted.

7 Q. When you use the term, temperature  
8 instability, what do you mean?

9 A. Well, the temperature may go up or it may go  
10 down beyond the normal range.

11 Q. You are saying those could be other signs of  
12 sepsis, that you have just outlined; lethargy, poor  
13 suck, temperature instability?

14 A. If the baby has a breathing problem, if it is  
15 tachypnic, very rapid heart rate or very slow heart  
16 rate.

17 Do you want the physical signs, as well?

18 Q. Sure.

19 A. If the fontanel is tense, bulging. If the  
20 muscle tone is poor. And most importantly, also, if  
21 he has a poor suck. All these.

22 Q. What about distended abdomen, could that be a  
23 sign of sepsis?

24 MR. BONEZZI: By itself?

25 Q. (Continuing) Let's start, by itself.

1 A. I am sorry?

2 Q. Distended abdomen.

3 MR. BONEZZI: How long?

4 A. A distended abdomen could be a sign of

5 necrotizing enterocolitis, it could be a sign of

6 intestinal obstruction, it could be a sign of

7 meconium ileus, and infection could be secondary to

8 those problems, yes.

9 Q. Okay.

10 A. See, a sepsis -- sepsis is usually when you

11 have -- when you have certain organ tissues

12 involved, whether there is a pneumonia and there is

13 a sepsis, or whether there is an invasion of the

14 intestinal mucosa and there is a sepsis. It is a

15 constellation -- it is not a one -- it is a

16 constellation of symptoms.

17 Q. If a newborn had a distended abdomen, was

18 jaundiced, lethargic, would sepsis be within your

19 differential?

20 A. Definitely.

21 Q. And if a sepsis is within your differential,

22 I trust you would agree that it would be your

23 responsibility to take steps to rule out sepsis?

24 A. Right.

25 Whenever we think of the possibility of an

1 infection, whether -- we always have to rule out  
2 infection.

3 Q. How do you rule it out?

4 A. Well, if the baby appears to be sick, then we  
5 would completely do a complete septic workup, which  
6 would include a blood culture, a urine culture, CSF  
7 studies, a chest x-ray, and a CBC and differential.

8 And any other areas that are involved, if the  
9 joints appear to be swollen, then you will tap the  
10 joint and make sure that there is no fluid there,  
11 any other areas, and you go from there.

12 And we would then put the baby on antibiotics  
13 and wait to see if the cultures tend to show any  
14 infection.

15 Q. Is it your opinion that jaundice did not  
16 appear within the first twenty-four hours of life?

17 A. When --

18 Q. With Steven Maksym.

19 A. Right.

20 When I saw him, he did not appear to be  
21 jaundiced, on the first physical exam --

22 Q. And did we establish that was 6:00 a.m. or  
23 6:00 p.m.?

24 A. Probably in the evening.

25 Q. Okay.

1 A. -- significantly jaundiced.

2 Usually almost -- the majority of full term

3 babies do get jaundiced, I mean, that is an

4 extremely common problem, or common occurrence that

5 we see.

6 Q. Doctor, on the pediatric record, Newborn

7 Service Sheet, I wonder if you could find that.

8 A. Find what?

9 Q. Find that sheet.

10 You have got it?

11 A. Yes.

12 Q. On the bottom it says, description of

13 abnormal findings. Would you read that for us?

14 A. It says, facial and truncal jaundice.

15 Q. So at the time of your examination, there was

16 jaundice?

17 A. On the discharge.

18 Q. Oh, I am sorry, I am misreading that.

19 The top section is the initial history, or

20 initial physical?

21 A. Right.

22 Q. And the bottom section is the discharge

23 findings.

24 A. Right.

25 Q. Was that completed by you?

1 A. Right.

2 See, because jaundice is such a common  
3 finding in newborns, I mean, when I wrote the  
4 discharge diagnosis, I wrote, full term -- I didn't  
5 include the word, jaundice, because it is such a  
6 common finding. As I said, almost all babies are  
7 jaundiced to some degree or another.

8 Q. Okay.

9 A. The majority of them are.

10 But at the time of the discharge exam, when I  
11 saw him, on the 16th, he did have facial and truncal  
12 jaundice.

13 Q. Now, you would agree with me that at the time  
14 of discharge his bilirubin level was on its way up?

15 MR. BONEZZI: Objection.

16 A. Well, usually when there is truncal jaundice,  
17 it is probably within the range of like eight to  
18 ten, that is an association. Usually as a jaundice  
19 tends to increase -- as the bilirubin tends to  
20 increase, the level increases, the jaundice, the  
21 yellow discoloration of the skin tends to descend.

22 In other words, it starts off usually of the  
23 eyes and face, and then goes to the trunk, and then  
24 goes to the legs, and then goes to the feet.

25 And with levels that are usually involving



1 the trunk, up to the umbilical level, for example,  
2 it is usually in the range of eight to ten, and when  
3 it goes down to involve the feet, it is usually like  
4 in the range of twelve or so.

5 Q. Do you think that is a reliable marker for  
6 determining a baby's bilirubin level?

7 A. Alone, no, but when we see jaundice levels,  
8 or when we see the skin to be jaundiced to those  
9 levels, then we have a ballpark figure of where the  
10 levels might be. But alone, it is not a reliable  
11 marker to designate a particular level.

12 Q. Do you agree that early treatment of sepsis  
13 with appropriate antibiotics will prevent sepsis  
14 from developing into meningitis?

15 A. Again, it depends upon what is the underlying  
16 cause of the sepsis, and where is the sepsis  
17 initiated.

18 If there is a tremendous amount of endogenous  
19 elements involved, then it may not, but if the  
20 sepsis originates in an infection in the meninges,  
21 then it probably won't prevent the meningitis.

22 In other words, you can have a bacteriemia  
23 without having a sepsis, and it depends on where  
24 that bacteria goes and deposits.

25 Q. Would you distinguish bacteriemia and sepsis

1 for me?

2 A. Bacteriemia is having bacteria from your --  
3 from the blood, growing in the blood, or being in  
4 the blood, or being present there. You can be  
5 bacteremic, without being septic.

6 In other words, you can be totally  
7 asymptomatic, and a blood culture which showed  
8 bacteria in the blood, but you may not be septic  
9 from it.

10 Sepsis is when you have a reaction or an  
11 organ reaction to that particular infection, whether  
12 it is to the bacteria itself, or to the products of  
13 that bacteria.

14 Q. When I asked you whether early intervention  
15 would prevent it from developing, would prevent  
16 sepsis from developing into meningitis, you said,  
17 depending on where it is from.

18 A. Right.

19 Q. Do you also mean depending on which organism  
20 we are dealing with, as to the cause?

21 A. Probably different organisms have a different  
22 tendency to affect the CSF fluid.

23 Q. Have you diagnosed E. coli bacteriemia or  
24 E. coli sepsis in newborns?

25 A. I didn't understand that question. Have I --

1 Q. Have you ever diagnosed, in a newborn,  
2 E. coli sepsis or E. coli bacteriemia?

3 A. You mean, have I ever had a patient with  
4 E. coli sepsis?

5 Q. Yes, that you diagnosed as having E. coli  
6 sepsis.

7 A. Not to my recollection.

8 Q. Have you ever had a patient where you  
9 diagnosed sepsis in the newborn period?

10 A. Yes.

11 Q. What was the organism?

12 A. Group B Strep.

13 Q. Did you --

14 A. Bacteriemia -- I am sorry.

15 See, again, the definition of sepsis. What  
16 we diagnosed was an infection where Group B Strep  
17 grew out of the blood and the patient showed  
18 evidence of being ill. And we assume it was a  
19 sepsis at that time.

20 Q. I am gathering it would be extremely  
21 difficult to diagnose bacteriemia because you  
22 wouldn't have the suspicions because there wouldn't  
23 be signs or symptoms?

24 A. No, that is not true. You can pick up a  
25 bacteriemia by going to the history. If the mother

1 had prolonged ruptured membranes, if she had a  
2 maternal fever, if she had a chorioamnionitis, if  
3 she had a potential problem, okay, then all babies  
4 would get a blood culture, and that blood culture,  
5 before the baby is symptomatic, could grow the Group  
6 B Strep.

7 9. While Joanne is looking for something, on  
8 this case where you had Group B Strep sepsis, did  
9 you intervene via appropriate antibiotics?

10 A. Right.

11 Q. So as to avoid meningitis?

12 A. Right.

13 Well, it is to avoid propagation of the  
14 infection, not specifically just meningitis.

15 Q. But you prevented the situation, the clinical  
16 situation from reaching the stage of meningitis in  
17 that case?

18 A. When we do a blood culture, the blood culture  
19 result takes twenty-four to forty-eight to  
20 seventy-two hours to come back.

21 Q. Okay.

22 A. So by the time we get the result of the blood  
23 culture, okay, the baby most likely would be on  
24 antibiotics.

25 So the baby would be treated long before --

1 Q. I understand.

2 A. -- we get the result of the blood culture.

3 Q. I understand.

4 A. So at that time -- so the purpose is to

5 prevent any propagation of infection, yes.

6 Q. When you are gaining the history of the mom,

7 before you begin your hands-on initial newborn

8 physical, do you rely upon the nurses to bring

9 things to your attention, or do you actually go

10 through the mother's chart?

11 A. No, we generally do not go through the

12 mother's chart. There is a delivery room sheet that

13 is filled out, and we tend to go over that. And we

14 ask the obstetrician.

15 Q. You apparently ordered a second bilirubin --

16 A. Right.

17 Q. -- measurement for Steven. Why did you do

18 that?

19 A. Well, when I saw him the second time, he was

20 jaundiced to his -- no, sorry, can I rephrase that?

21 Q. Sure.

22 A. When I saw his blood level earlier, they had

23 done one in the morning, apparently he looked

24 jaundiced to the nurses, and the nurses felt that he

25 should have a bilirubin done.

1           And we do give that privilege to the nurses,  
2   if they feel that the baby is jaundiced, then to go  
3   ahead and do a level on him.

4           It was a level of 6.5.

5           And then when I saw him in the afternoon on  
6   the 16th, I saw that he was jaundiced to his truncal  
7   area, and I wanted to determine what the rate of  
8   rise and what his level is. That is why I ordered a  
9   bilirubin to be done the second day. And to be done  
10   the second day, because I would like to know what it  
11   was at the time before discharge. I did not feel  
12   that it was high enough to be  
13   done that particular evening.

14   Q.       So you ordered it to be done the next day?

15   A.       Right.

16   Q.       How long does it take for the results to come  
17   back?

18   A.       Usually like one to two hours.

19   Q.       And is it something that the lab calls up to  
20   the floor, or do they actually send a sheet up to  
21   the floor?

22   A.       For what?

23   Q.       When a bilirubin is run, how does that  
24   information get back to the nursery, at this  
25   hospital?

1 A. I think usually the nurses call down and  
2 check what the level is. If it is very high, then  
3 the lab would automatically call up.

4 Q. And is it the responsibility of the nurses to  
5 chart what they were told from the lab, as to what  
6 the values were?

7 A. Well, they would pass it on to us, yes.

8 Q. And should that be within the chart?

9 A. They usually put it on our sheet, we have a  
10 sheet with the list of the babies, and their  
11 weights, and so on, and the time of birth, and so  
12 on, and there is an area that says, comment, and  
13 they would write that down for us to see, because  
14 when we come in, we just look at that sheet to see  
15 the babies we have, and so on.

16 So they would put that down on the time that  
17 the baby is discharged, they would verbalize that to  
18 us over the phone, yes.

19 In other words, whether they go and put it in  
20 the chart themselves, I really don't know.

21 Q. But you mentioned that there is a sheet,  
22 something aside from the chart that the nurses relay  
23 messages to you or your partner on?

24 A. Right.

25 Q. What is that sheet called?

A. I don't know if it has a name, but basically it is a list of the patients that we have, their room numbers, and the baby's weight today, and the baby's weight the day before, and if the baby needs to be examined, like first physical, or a discharge physical, and there would be an area for comments.

Q. As to any problems?

A. As to, yes, any problems.

Q. What happens to those sheets, to your knowledge? Are they ever incorporated into the actual chart of the baby?

A. No.

Q. Are they simply thrown away?

MR. BONEZZI: If you know, Doctor.

A. I really don't know what happens to them. I mean, we don't keep them. I do not keep them, personally.

Q. It is something the nurses keep?

A. I don't know if they keep them.

Q. Well, it is something the nurses generate, it is a form that they use, and they complete; is that correct?

A. Right.

Q. For relaying information to you, in addition to the chart?



1 A. Well, basically it is primarily designed so  
2 we know the babies that we have, and in which rooms  
3 they are, right.

4 Q. You told me that you are not certain that  
5 that form has a name, but if you were referring to a  
6 nurse as to that form, what would you call it?

7 A. Basically I would say -- well, I usually  
8 don't refer to that particular sheet, but when I go  
9 in, I just tell -- I think it is a doctors' sheet or  
10 something, I don't know what you call it, basically.  
11 There is no specific name for it.

12 Q. Doctor, I am looking at the physician  
13 progress notes. Do you have those?

14 MR. BONEZZI: Those are the orders.

15 This is the only progress note  
16 (indicating).

17 Q. (Continuing) Doctor, are there any progress  
18 notes by you on this baby?

19 A. No, apparently not.

20 Q. Why not?

21 A. I think I just simply forgot to write a  
22 progress note.

23 The baby appeared to be normal at that time,  
24 within a normal range, and I guess I didn't write a  
25 progress note. But I did examine him that

1 particular day.

2 See, usually what we -- things that we tend  
3 to fill out on the day prior to discharge or on the  
4 day of discharge is the physical exam, which we do,  
5 and the front sheet, which I don't know if it is  
6 here.

7 But sometimes we even forget to do that, the  
8 front sheet where it says, diagnosis, and things  
9 like that.

10 So I guess I did not write one.

11 (Thereupon, Mr. Chamrs left the room.)

12 Q. Doctor, you would agree that the hospital  
13 expects physicians to complete progress notes when  
14 they are taking care of a patient?

15 MR. BONEZZI: Objection.

16 A. I usually write progress notes.

17 Q. That is part of your duties, is to write  
18 progress notes?

19 A. Is to write a progress note.

20 Q. That is part of the standard of care when you  
21 are taking care of a patient in the hospital?

22 MR. BONEZZI: Objection.

23 A. Right.

24 Q. Doctor, you did not have any role in the  
25 actual discharge of this infant; is that correct?

1 A. Right.

2 Q. Were there any discussions between you and  
3 your partner as to --

4 A. Yes, usually we sign out about 9:30 in the  
5 morning, and I tell him of the patients that we have  
6 in the hospital, and if there are any problems.

7 Q. Your partner, Dr. Vuppala, has told us that  
8 it is his responsibility, when he discharges a  
9 patient, to give certain instructions to the  
10 patient's parents as to what to look for.

11 A. Right.

12 Q. And that is particularly so when these new  
13 parents aren't going to be followed by you out of  
14 the hospital?

15 A. Frankly, I didn't even know that. But  
16 anyway, we always discuss with the parents, whether  
17 the mother, or the father, or both, as to what to  
18 look for.

19 And I don't remember specifically, but what I  
20 do is if the patient is jaundiced, I tell the  
21 parents, the patient is jaundiced, and I discuss  
22 that with them.

23 Q. Would you have -- I know you don't have any  
24 specific independent recollection, but would you  
25 have -- since you weren't the discharging physician

1 here -- would you have still had that discussion  
2 with the parents, as to what to look for, even  
3 though you --

4 A. Most definitely. Most definitely.

5 Q. Okay.

6 A. I would tell them that the baby is jaundiced.

7 Q. Tell me generally what you would tell the  
8 parents?

9 A. As to --

10 Q. What to look for.

11 A. What to look for.

12 Q. Even though you are not the discharging  
13 pediatrician.

14 A. Basically, on the day of my last exam, I will  
15 go in and tell them that generally if the baby is  
16 sucking good, and wants to eat, it is a sign that he  
17 is a pretty healthy baby.

18 And with respect to the jaundice, what they  
19 should look for is if the jaundice tends to  
20 progress, and if it starts to involve the lower  
21 extremities and the feet, we definitely would like  
22 to know about it. Because then it would warrant us  
23 to repeat a level.

24 And then we would like to see him within a  
25 two week period.

1 MR. BECKER: Could I have that back,  
2 Ivy.

3 (Record read.)

4 BY MR. BECKER:

5 Q. I will take those one at a time, Doctor.

6 The first one is that if the baby has a good  
7 suck and has a good appetite, that is a good sign?

8 A. Right.

9 Q. And you would tell the parents that?

10 A. Right.

11 Q. And the corollary to that obviously is, if  
12 the baby is not feeding well, that is a bad sign?

13 A. Well, it does warrant attention.

14 Q. And would you tell the parents that?

15 A. Definitely.

16 Q. And that would be part and parcel of your  
17 responsibility as an attending pediatrician in the  
18 nursery, to tell the new parents that?

19 A. That is what I tell all my mothers.

20 Q. And that is part of your responsibility and  
21 duty?

22 A. That is what I tell them.

23 MR. BONEZZI: Objection to your  
24 characterization of responsibilities and  
25 duties.

1 Q. Doctor, do you feel that those instructions  
2 to your mothers comports with the appropriate  
3 standard of care of a pediatrician taking care of a  
4 newborn within the nursery?

5 MR. BONEZZI: Objection.

6 A. Well, we also go -- I mean, we tend to go  
7 into a little more detail, if you like.

8 Q. Just answer this last question.

9 Can I have the question -- listen to the  
10 question.

11 (Record read.)

12 A. Yes.

13 Q. You said that you generally go into a little  
14 bit more detail. Since you don't have any  
15 independent recollection, what type of detail do you  
16 generally go into?

17 A. Well, we ask them if they have any questions,  
18 if they have any concerns, okay?

19 Q. Okay.

20 A. And if they have any concerns of taking care  
21 of the circumcision, if they have any concerns  
22 taking care of the umbilical cord. We actually  
23 spend like about ten to fifteen minutes.

24 Q. If a mother voiced a concern to you about the  
25 baby's eyes, the baby not opening his eyes, would

1     that cause you to take a harder look or take a  
2     closer look at the baby's ability to open his eyes?

3     A.       Yes, it does. I always check the baby's  
4     eyes, I mean, at the time of discharge.

5     Q.       Doctor, I don't know if you have looked at  
6     any other records in advance of this deposition, and  
7     I probably forgot to ask you what you did look at in  
8     advance of this deposition. Maybe I should start by  
9     asking you that.

10            What did you look at prior to this  
11     deposition?

12     A.       I reviewed the chart.

13     Q.       Did you see any of the records from Metro on  
14     this child?

15     A.       I saw the first forty-eight hours, very  
16     briefly.

17     Q.       Are you aware that this child had a  
18     congenital eye problem at birth?

19     A.       No, I am not. I am not.

20            But at that time, any child who is jaundiced,  
21     we always check his eyes for good red reflex

22     Q.       You also said, and generally what you would  
23     be telling the parents is, that if the jaundice  
24     looks like it is increasing or going down to his  
25     feet, that should tell the parents that the child

1       should be seen within two weeks?

2                       MR. BONEZZI:  Objection, that is not  
3               what he said.

4       A.       No.

5       Q.       Let's get it clarified then.  What did you  
6       say?

7       A.       I said, if the jaundice appears to be  
8       descending to involve the lower extremities and the  
9       feet, we would like to know about this so we could  
10      repeat a level --

11      Q.       Okay.

12      A.       -- and check the baby, because we are a  
13      little more concerned.

14      Q.       Is that something that you would want to know  
15      about immediately?

16      A.       Yes, we would like to know.  If the jaundice  
17      involves the feet, usually it is in the range of  
18      like twelve, at least, a minimum.  That is a  
19      ballpark figure.  And this is why we would like to  
20      do a level and see what the level is, whether it is  
21      twelve, or fifteen, or sixteen, or greater.

22               But it is important that we do know about it.

23      Q.       But your general instructions would be that  
24      if the jaundice extended down to his feet, then the  
25      parents should immediately contact you or their



1 attending pediatrician outside the hospital?

2 A. We usually tell them to call us, yes.

3 Q. And if a parent called you, and told you that

4 in their mind, the jaundice was descending, what

5 action would you normally take?

6 A. Well, we generally would like to see the

7 baby -- what we ask them, is the baby eating well?

8 Because usually if the baby is feeding well and

9 wants to eat, that means that the jaundice will

10 probably not go much higher, okay?

11 So it is good for us to know whether the baby

12 is feeding well or not.

13 Q. Okay.

14 A. And if the baby is having any abnormalities,

15 like temperature changes, and primarily if the baby

16 is sucking good or not, and we would definitely

17 repeat a level, yes, and we would like to see the

18 baby the following day.

19 Q. So you would want to repeat a level

20 immediately, within twenty-four hours after the

21 phone call?

22 A. I think if the baby wasn't feeding well, then

23 we would do it right away.

24 Q. Because what would that tell you, Doctor, if

25 the baby wasn't feeding well, and the jaundice level

1 was increasing?

2 A. Well, either he is -- usually when the baby's  
3 jaundice tends to go up, they are sluggish eaters.  
4 And when they start to pick up in their feeding  
5 habits, and when they start to -- their weight tends  
6 to gain, then the jaundice is probably peaked, and  
7 it is going to start to come down.

8 Basically we are concerned whether the baby  
9 is feeding well, and we make sure that the level is  
10 going up or there is another problem involved.

11 Q. Doctor, just because a family is not going to  
12 utilize you as --

13 A. I didn't even know that, to tell you the  
14 truth

15 Q. Listen to my question.

16 You would agree with me, Doctor, that the  
17 standard of care that you render should be the same  
18 whether or not --

19 A. Absolutely.

20 Q. Let me finish my question, first of all.

21 You would agree with me, Doctor, that the  
22 standard of care for you should be the same whether  
23 or not you will be the subsequent treating  
24 pediatrician, or someone else would be?

25 A. Yes.

1                   (Thereupon, Mr. Charms re-entered the  
2                   room.)

3       Q.       Now, there was some discussion last Friday  
4       about a booklet that was normally given to the  
5       mother at the time of discharge.

6               Are you familiar with a booklet given at  
7       Deaconess at or about the time of this birth?

8       A.       There is an instruction booklet -- it is sort  
9       of a generalized booklet that is given to the  
10      mother, yes.

11     Q.       Are you familiar with that booklet?

12     A.       In great detail, no.

13     Q.       Can you just tell me what the substance of  
14     that booklet is; what does it speak to?

15     A.       It was a long time since I read it, but it  
16     would basically cover --

17               MR. BONEZZI: Don't guess. If you  
18               don't remember, tell him what you remember,  
19               but I don't want you to guess as to what may  
20               be in there.

21     Q.       I don't want you to guess. Tell me your  
22     basic recollection of what generally is in there?

23     A.       In the care of the umbilical -- umbilicus,  
24     umbilical cord. I mean, that is as far as -- I  
25     mean, specifically, I don't know specifically. I

1 haven't looked at it for a long time.

2 Q. Dr. Vuppala made some reference to a booklet  
3 that spoke to signs or symptoms that the new parents  
4 should look for in a newborn. Are you familiar with  
5 any booklet like that?

6 A. I believe that will address that, too.

7 Q. You think that is the same booklet?

8 A. I think it is the same booklet.

9 And at that time, I think there was a  
10 sheet -- I mean, that I have actually seen -- about  
11 jaundice, that it is given to all mothers, it is a  
12 separate sheet. Whether they stopped it at that  
13 time or not, but I know that they did have a  
14 separate sheet discussing jaundice in the newborn.

15 Q. You think that that sheet, the distribution  
16 of that sheet, ceased?

17 A. I don't know. I mean, I have to ask about  
18 that.

19 But I definitely do remember seeing a sheet  
20 on jaundice that is usually distributed to all  
21 mothers.

22 Q. Is it your opinion, Doctor, that it is the  
23 responsibility of the nurses to distribute a sheet  
24 on jaundice to mothers at the time of their  
25 discharge?

1 MR. BONEZZI: Objection.

2 MR. MARKWORTH: Objection.

3 A. We basically try to educate the mother as  
4 much as possible.

5 Q. Can you answer my question directly?

6 A. It is the responsibility of the nurse, you  
7 mean?

8 Q. Yes.

9 A. I don't think it is specifically the  
10 responsibility of the nurse.

11 Q. Is it either your responsibility or the  
12 nurses' responsibility to ensure that the new  
13 parents receive this sheet on jaundice in the  
14 newborn?

15 MR. MARKWORTH: Objection.

16 A. I really don't think it is the responsibility  
17 of anybody. I think the important thing is to try  
18 to educate the parents as much as possible.

19 Q. So it is not so much that they get a specific  
20 sheet, the bottom line is if they get fully educated  
21 prior to their discharge?

22 A. Right.

23 Q. And would you agree with me, Doctor, that the  
24 nurses have some -- bear some responsibility in the  
25 education of the parents relative to jaundice?

1 MR. MARKWORTH: Objection.

2 A. Relative to all elements, I guess, yes.

3 Q. Doctor, you have told me that you had an  
4 opportunity to look at this chart prior to this  
5 deposition.

6 A. Right.

7 Q. May I ask how recently have you looked at  
8 this chart?

9 A. Well, I looked at it yesterday. That is  
10 probably the most recent.

11 Q. Did you detect any problems in this newborn  
12 in feeding during his stay at Deaconess?

13 MR. BONEZZI: You are going to review  
14 the chart.

15 THE WITNESS: I beg your pardon?

16 MR. BONEZZI: I said, you are going to  
17 review the chart before you answer.

18 A. I did not. And usually, with my discharge  
19 physical, I always check the baby's suck. I do it --  
20 if there is a bottle in the crib, I offer it to the  
21 baby and see how vigorously the baby sucks.

22 Q. You actually observe the suck?

23 A. Yes.

24 Q. Go ahead.

25 A. And if there is no bottle in the crib, I

1 usually use my finger, and see how vigorously that  
2 baby tends to suck, and how active that baby is.

3 And in the presence of jaundice, we always  
4 check the spleen, the liver, the abdomen, and we  
5 always look at the skin to see if there is any rash,  
6 and we always check the eyes for a good red reflex.  
7 It is always done that way.

8 Q. If there were problems in feeding, whether  
9 not having a good suck, or vomiting the feeding,  
10 would you expect the nurses to bring that to your  
11 attention --

12 A. Yes.

13 Q. -- to comport with the standard of care of  
14 pediatric nurses?

15 MR. MARKWORTH: Objection.

16 A. Well --

17 MR. BONEZZI: I am going to object as  
18 it relates to this physician knowing what the  
19 standard of care is relative to nurses.

20 MR. BECKER: Okay.

21 A. (Continuing) I think if the nurse has  
22 experienced a problem with a baby, I would expect  
23 her to tell me that there was a problem.

24 Q. Doctor, you mentioned the term, red reflex?

25 A. Right.

1 Q. What does that mean?

2 A. It usually means it is sort of a reflection  
3 of the retina. For example, if there is any form of  
4 increased intracranial pressure, or if there is  
5 cataract, or if there is any inflammation involving  
6 the retina, we may tend to pick it up, or if there  
7 is clouding of the cornea.

8 Q. Doctor, with Steven apparently there was a  
9 yellow discharge from his eyes --

10 A. Right.

11 Q. -- that was swabbed and cultured.

12 A. Right.

13 Q. Any significance to that finding and the  
14 results of that culture?

15 A. I am sorry, the finding of what?

16 Q. Finding, actually the finding of that  
17 process, that is the discharge, yellow discharge  
18 from his eye, is there any significance to that?

19 A. Well, when we have a discharge from a baby's  
20 eye, we always culture it to make sure whether there  
21 is an infection there or not.

22 Q. And was there any evidence of infection as a  
23 result of the culture?

24 A. The culture was basically normal flora there.

25 Q. Doctor, the results of the pathology results



1 reflect slight growth viridans streptococcus group;  
2 is that normal?

3 A. It is a normal skin flora.

4 Q. Moderate WBCs; is that normal?

5 A. It is within -- probably -- if there is a lot  
6 of white pus cells there, then you worry about a  
7 possible infection. If there is a moderate amount,  
8 and the culture is negative, then it could be  
9 secondary to an irritation.

10 Q. Well, when it describes it in this form as  
11 moderate WBCs, does that tell you the number of the  
12 WBCs?

13 A. No, but if the bacteria is normal skin flora,  
14 then alone it doesn't mean -- it may not be  
15 significant.

16 Q. Can you explain to me why the preliminary  
17 result shows slight growth gram positive cocci and  
18 the final result shows slight growth viridans  
19 streptococcus group?

20 A. Because the viridans streptococcus is a gram  
21 positive cocci.

22 Q. Okay.

23 Doctor, Steven Maksym, I believe he was  
24 brought back to Deaconess Hospital through the  
25 emergency room before he was ultimately transferred

1 to Metro. Were you contacted the day that he came  
2 back?

3 A. No. I was off that weekend. It was a  
4 weekend, and it was my weekend off.

5 Q. I forgot to ask you prior, do you have any  
6 knowledge whether your partner was contacted?

7 A. I believe the emergency room called him.

8 Q. So between you and Dr. Vuppala, it was like a  
9 joint management of this newborn?

10 A. That is how we manage our patients, yes.

11 Q. If there is a projectile type of vomiting in  
12 a newborn, is that more significant to you than  
13 simply spitting up?

14 A. Right.

15 Q. Would you explain to me why?

16 A. Well, if it is projectile, we worry about if  
17 there is an intestinal obstruction, one. That is  
18 probably the main concern. There are other things  
19 that can cause it, obviously.

20 Q. But that is more concerning than normal spit  
21 up?

22 A. Most definitely. Especially if it is  
23 associated with any color changes, like bile  
24 involved in the vomitus. If there is bilious  
25 material in the vomitus, it is of great concern.

1 9. Do you agree with me, Doctor, that there are  
2 different types of E. coli meningitis, some that  
3 come on rapid onset, and some that are more of a  
4 chronic nature, if you have an opinion?

5 MR. BONEZZI: Objection to the form of  
6 the question.

7 E. coli meningitis is E. coli  
8 meningitis. You are asking about the  
9 rapidity of the onset of the symptoms, as  
10 opposed to whether or not there are different  
11 types of E. coli meningitis.

12 Q. (Continuing) Let's just start with meningitis  
13 in general. Are there differences in rapidity of  
14 onset, depending on the bug?

15 A. Once you have a meningitis, it will generally  
16 show.

17 In other words, it is very unlikely to have a  
18 meningitis, and linger on for a long period of time  
19 without having signs or symptoms.

20 Q. Do you have an opinion, or will you have an  
21 opinion, that meningitis that is fulminating has a  
22 worse prognosis than slow onset meningitis?

23 A. I don't understand what you mean, slow onset  
24 meningitis. There is no -- I don't believe there is  
25 a slow onset meningitis. You either have a

meningitis, or you don't have a meningitis.

2 Q. Okay.

3 After your review of this chart, do you have  
4 any problems or criticisms of the nursing care given  
5 to Steven Maksym?

6 MR. BONEZZI: Objection.

7 MR. MARKWORTH: Objection.

8 A. Do I answer that?

9 Q. Yes.

10 A. No.

11 MR. BECKER: Okay, we will take a  
12 short break.

13 (Short recess had.)

14 BY MR. BECKER:

15 Q. Doctor, what are the congenital conditions  
16 that would prohibit a baby from opening its eyes;  
17 can you name a few of them?

18 A. Basically if there is an abnormality in the  
19 palpebral fissures, if the palpebral fissure is too  
20 small, or if there is a stricture, or if they are  
21 fused, if there is a muscle problem like either a  
22 paralysis on the levator muscles of the eyelid, if  
23 there is a nerve palsy.

24 Q. Doctor, all of those things you have outlined  
25 should be obvious to a prudent pediatrician in his

1 examination of a newborn?

2 A. Usually if it is significant -- I think if  
3 the palpebral fissures are fused, that is pretty  
4 obvious. If there is a nerve palsy, like a cranial  
5 nerve palsy, it may be subtle. It really depends  
6 upon how --

7 Q. It depends on what is actually causing it?

8 A. Right, and how many muscles are involved

9 MR. BECKER: What don't you mark that

10 (There's upon, Plaintiff's Exhibit 1

11 (Jamhour) was marked for identification.)

12 BY MR. BECKER:

13 W. Doctor, going back though these conditions on  
14 the eyes, congenital problems can you give me the  
15 medical terms for those?

16 A. For what?

17 Q. For these eye conditions, potential eye  
18 conditions, congenital eye conditions?

19 A. Basically if there is fusion of the palpebral  
20 fissures --

21 Q. Yes.

22 A. -- or there is stenosis of the palpebral  
23 fissures that is basically what I remember to be --

24 I mean, if there is a cranial nerve palsy, a Bell's  
25 palsy.

1 Q. Doctor, I am going to hand you what has been  
2 marked as Plaintiffs' Deposition Exhibit 1, and I am  
3 going to show it to your counsel first, then I am  
4 going to ask you some questions about it.

5 (Thereupon, the document was handed to  
6 counsel and then handed to the witness.)

7 Q. (Continuing) Doctor, can you tell by that  
8 photograph, in your mind, whether or not the abdomen  
9 is distended in that child?

10 MR. BONEZZI: Objection.

11 You may answer.

12 A. It appears to be full. Probably. It is not  
13 a two dimensional -- it is not three dimensional.

14 Q. I appreciate that.

15 Does it not look like that abdomen is  
16 distended?

17 MR. BONEZZI: Objection.

18 A. It really depends upon his state of  
19 respirations. If he was in an expiratory phase of  
20 breathing, the abdomen may look distended in that  
21 picture. It appears to be full.

22 MR. BONEZZI: Is that a picture taken  
23 from the hospital, or is that a picture taken  
24 by Mr. Maksym? Because if it is the latter,  
25 I want a copy of it, please.

1                   MR. BECKER: Would you say that again,  
2                   please?  
3                   MR. BONEZZI: Yes.  
4                   Who took the picture, the hospital, or  
5                   Mr. Maksym?  
6                   MR. BECKER: It is a family photo.  
7                   MR. BONEZZI: I would like a copy of  
8                   it, please.  
9                   MR. BECKER: Sure.  
10                  MR. MARKWORTH: The same.  
11                  MR. BECKER: Sure.  
12                  Just for the record, this is a  
13                  photograph taken just prior to his discharge.  
14                  MR. BONEZZI: Would you make sure that  
15                  the writing that appears on the back also is  
16                  put onto --  
17                  MR. BECKER: Why don't I read it now.  
18                  MR. BONEZZI: That is fine.  
19                  MR. BECKER: This shows his date of  
20                  birth. On the back it says, Steven Lee  
21                  Maksym, eight pounds four ounces, August 15,  
22                  1989, 1:10 a.m., which is his time of birth.  
23        A.        (Continuing) If that picture was taken after  
24                  the baby was fed, I mean, it could look similar to  
25                  that, too, or in an expiration phase of his

1     breathing.

2     Q.       Would jaundice impact a child's feeding?

3     A.       Generally what we find, if the tendency of  
4     the jaundice is to go up, the baby may not have as  
5     good of an appetite. And in babies who tend to gain  
6     weight, the jaundice probably has peaked and will  
7     tend to descend. That is a clinical finding.

8     Q.       Are you talking about in the newborn nursery?

9     A.       I am talking about -- I am talking about in  
10    the newborn period, whether it is in the first --  
11    usually babies don't gain weight --

12   Q.       Right.

13   A.       -- in the first few days of life.

14   Q.       In fact, generally they lose a little bit?

15   A.       When we talk to them over the phone, if they  
16   say the baby is feeding well and doing well, over  
17   the phone, then we are a little more relaxed in  
18   caring for that -- we are a little more relaxed in  
19   the sense that the baby is less likely to have a  
20   severe problem.

21            It is a reassuring factor.

22   Q.       Doctor, do you measure the jaundice level, or  
23   the bili level, in all jaundiced infants?

24   A.       Not on all jaundiced infants. Again, it  
25   depends to what level they appear to be.



1 Q. You only measure bili levels on infants that  
2 you feel the jaundice is significant?

3 A. Right. For example, if it appears to be  
4 involving the trunk, then we would like to know what  
5 that level is, and beyond.

6 Q. Doctor, would you agree or disagree with this  
7 concept, that it would be extremely difficult if not  
8 impossible to correlate serum bilirubin levels with  
9 clinical appearance?

10 A. Right. You cannot say --

11 MR. BONEZZI: You answered the  
12 question, Doctor. You answered the question.

13 MR. BECKER: Doctor, what I have  
14 remaining are a few questions off the  
15 discovery deposition of your partner,  
16 Dr. Vuppala.

17 And Bill, you told me that I am not  
18 going to be permitted to ask him any  
19 questions along those lines, so we will  
20 suspend the depo and reconvene at a later  
21 date after the depo has been read and signed.

22 Is that fair?

23 MR. BONEZZI: Yes.

24 MR. BECKER: Thank you, Doctor.

25 Any questions from the hospital at

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

that end?

MR. MARKWORTH: I will wait until your  
exam is done.

- - -

(DEPOSITION CONCLUDED)

- - -

---

Joseph A. Jamhour, M.D.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE

State of Ohio,                    )  
                                      )    SS:  
County of Cuyahoga.            )

I, Ivy J. Gantverg, Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the above-named JOSEPH A. JAMHOUR, M.D., was by me first duly sworn to testify to the truth, the whole truth, and nothing but the truth in the cause aforesaid; that the deposition as above set forth was reduced to writing by me, by means of stenotype, and was later transcribed into typewriting under my direction by computer-aided transcription; that I am not a relative or attorney of either party or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office at Cleveland, Ohio, this 3rd day of September, 1993.

\_\_\_\_\_  
Ivy J. Gantverg, Notary Public  
in and for the State of Ohio.  
Registered Professional Reporter.  
My commission expires September 13, 1993.