

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 JANET L. PORACH, etc.,

4 Plaintiff,

5 - vs -

JUDGE CALABRESE
 CASE NO. 316045

6 LORENZO S. LALLI, M.D.,

7 Defendant.

8 - - - -

9 Video deposition of BRUCE D. JANIAK, M.D.,
10 taken as if upon direct examination before Aneta
11 I. Fine, a Registered Merit Reporter and Notary
12 Public within and for the State of Ohio, at The
13 Toledo Hospital, 2142 North Cove Boulevard,
14 Toledo, Ohio, at 10:15 a.m. on Saturday, April
15 4, 1998, pursuant to notice and/or stipulations
16 of counsel, on behalf of the Defendant in this
17 cause.

18 - - - -

19 MEHLER & HAGESTROM
20 Court Reporters
21 1750 Midland Building
 Cleveland, Ohio 44115
 216.621.4984
22 FAX 621.0050
 800.822.0650
23
24
25

1 APPEARANCES:

2 Howard D. Mishkind, Esq.
3 Becker & Mishkind Co., L.P.A.
4 Skylight Office Tower, Suite 660
5 Cleveland, Ohio 44113
6 (216) 241-2600,

7 On behalf of the Plaintiff;

8 Ronald A. Rispo, Esq.
9 Weston, Hurd, Fallon, Paisley & Howley
10 2500 Terminal Tower
11 Cleveland, Ohio 44113
12 (216) 241-6602,

13 On behalf of the Defendant.

14 ALSO PRESENT:

15 Randy Andrews, Video Technician.
16
17
18
19
20
21
22
23
24
25

1 MR. RISPO: Let the record reflect
2 that this is the direct examination of Dr.
3 Bruce Janiak to be taken on direct
4 examination for use in evidence at trial
5 pursuant to notice and agreement of
6 counsel. Any defects in the notice or
7 agreement have been waived.

8 MR. MISHKIND: That's correct.

9 MR. RISPO: And that will you waive
10 the filing requirement, the one day filing
11 requirement?

12 MR. MISHKIND: Sure, not a
13 problem.

14 MR. RISPO: Good. Now we're ready
15 to go on the record.

16 If you will swear the witness,
17 please.

18 BRUCE D. JANIAC, M.D., of lawful age,
19 called by the Defendant for the purpose of
20 direct examination, as provided by the Rules of
21 Civil Procedure, being by me first duly sworn,
22 as hereinafter certified, deposed and said as
23 follows:

24 DIRECT EXAMINATION OF BRUCE D. JANIAC, M.D.

25 BY MR. RISPO:

1 Q. Good morning, doctor.

2 A. Good morning.

3 Q. My name is Ron Rispo. I represent the -- Dr.
4 Lalli in this case, the defendant in this matter
5 and I'd like if you would please to introduce
6 this testimony by explaining where are we at the
7 present time.

8 A. We are in a conference room at the Toledo
9 Hospital in Toledo, Ohio.

10 Q. And it's Saturday, the 4th of April, I believe
11 it is?

12 A. Yes, it is.

13 Q. And where will you be next week?

14 A. Next week I am scheduled to be in Washington,
15 D.C. attending a meeting as a representative of
16 the American College of Emergency Physicians.

17 The meeting has to deal with the, what's
18 called the Med Teams project which is a
19 government-sponsored project that attempts to
20 improve communications in chaotic situations
21 like an emergency department or an airplane
22 cockpit to reduce errors and accidents and
23 omissions.

24 Q. Okay. And according to your original plans do
25 you expect that you'd be available to testify in

1 trial on this matter on Tuesday of next week?

2 A. No. My original plans would prevent that.

3 Q. Okay. We will then proceed with your videotape
4 deposition, doctor.

5 First of all, would you please explain to
6 us, are you licensed in the State of Ohio?

7 A. Yes, I am.

8 Q. And do you hold any Board-certifications?

9 A. Yes. I am Board-certified in emergency
10 medicine.

11 Q. And what offices or titles do you currently
12 hold?

13 A. Well, I'm director of the emergency center here
14 at Toledo Hospital and I am president, currently
15 of the Emergency Department Benchmarking
16 Alliance which is a group of emergency
17 physicians and nurses from large hospitals that
18 are looking to evaluate and share best practices
19 to improve patient care.

20 Q. How long have you been director at Toledo --
21 Emergency Services at Toledo Hospital?

22 A. Since 1974.

23 Q. Would you give us a brief thumbnail of your
24 education and training?

25 A. Well, I went to Marietta College in Marietta,

1 Ohio then the University of Cincinnati for
2 medical school which was 1965 through '69 and
3 then I did internship and residency at the
4 Cincinnati General Hospital through 1972 and
5 finished an emergency medicine residency
6 training program in '72 and then I served in
7 the Navy for two years at the Pensacola Naval
8 Hospital and in 1974 then I came here to
9 Toledo.

10 Q. And where did you pursue your internship?

11 A. At the Cincinnati General Hospital in
12 Cincinnati.

13 Q. And what subject areas did you pursue?

14 A. An internship by definition is a broad exposure
15 so it's multiple areas.

16 Q. How about your residency?

17 A. That was focused on emergency medicine.

18 Q. Along the way did you have time to get married?

19 A. Yeah, I did.

20 Q. And do you have any children?

21 A. Yes, I do.

22 Q. How many do you have?

23 A. I have 14 children.

24 Q. 14?

25 A. 14.

1 Q. Are they all your children, natural children?

2 A. No. There's three natural and 11 adopted.

3 Q. Okay. Thank you, doctor.

4 Are you familiar with the standards of care
5 for an internist in the City of Cleveland in
6 1994 as it relates to the care and treatment of
7 myocardial infarctions?

8 A. Sure, inasmuch as whenever I see patients that
9 have myocardial infarctions and many of them are
10 transferred to the care of cardiologists but
11 many others are transferred to internists so I
12 certainly know what they do in the early phases
13 of their evaluation, yes.

14 Q. Okay. Same question as it relates to nurses
15 doing triage in the emergency room.

16 Are you familiar with the standard of care
17 for them in the care and treatment of a
18 myocardial infarction?

19 A. I'm aware of the standard of care for them in
20 the way they triage patients and what their key
21 evaluation should be in the triage area and then
22 as they assist us in the emergency department
23 itself and how they help us, yes.

24 Q. Okay. And have you any special expertise in
25 that area, nurses, triage and emergency room?

1 A. Well, I don't know that I would regard myself as
2 a, certainly not an author in nurse triage but
3 have worked with them for over 20 years on how
4 they do it, so sure, from an experiential
5 viewpoint, yes.

6 Q. And as a specialist in emergency room care you
7 see it every day?

8 A. Every day.

9 Q. Okay. Is there a published standard for nurses
10 in an internist's office who take calls and set
11 appointments for the recognition and care of
12 myocardial infarctions?

13 MR. MISHKIND: Let me just show an
14 objection to relevance. Go ahead.

15 A. Not that I'm aware of.

16 Q. Okay. Are you aware of any published standard
17 for receptionists in that setting?

18 A. I am not.

19 Q. Would the standard or the expectation for a
20 receptionist be different from the standard
21 which would apply to a Board-certified
22 cardiologist?

23 A. Certainly.

24 Q. Would it be different from the standard which
25 applies to a specialist in emergency medicine?

1 A. Certainly.

2 Q. Would it be different from the standard that
3 would be expected of a Board-certified
4 internist?

5 A. Yes.

6 Q. Have you reviewed the case of John Porach at my
7 request?

8 A. Yes, I have.

9 Q. What materials have you reviewed?

10 A. Well, I've reviewed the medical records,
11 multiple depositions of the personnel involved
12 in the care, and the relatives, and the
13 electrocardiogram taken from Dr. Lalli's office,
14 autopsy report.

15 Q. Okay. And the EKG?

16 A. And the EKG, and there's also an emergency
17 record that was created I think by Dr. Gershman
18 when the patient was trying to be resuscitated
19 at the hospital emergency department.

20 Q. Okay. Before we go into the details of John's
21 case, could you define a few terms for us?

22 First of all, angina?

23 A. Angina in its broadest definition refers to pain
24 that is caused by lack of enough oxygen to a
25 particular tissue. So even though we don't

1 operationally use it this way you could have
2 angina in your abdomen if you didn't have enough
3 blood supply to your bowel, called abdominal
4 angina, but normally when we use the word angina
5 we refer to pain which is caused by lack of
6 enough oxygen to the muscle tissue of the
7 heart. That is a common, if you don't define it
8 any further when you talk about angina, you talk
9 about heart angina.

10 Q. Is angina the same thing as a myocardial
11 infarction?

12 A. No. Angina is actually and characteristically
13 short duration, maybe 20 minutes, sometimes 30
14 minutes at the most, and is not by definition
15 associated with heart muscle damage.

16 Q. Can you define the term ischemia?

17 A. Ischemia would be a gray zone which is almost
18 between angina and infarction. The pain in the
19 heart muscle caused by a lack of oxygen is
20 called angina. The ischemia is usually used
21 when we can actually medically prove that the
22 heart muscle is not receiving enough oxygen and
23 so when we do an electrocardiogram there are
24 certain changes when this is occurring, you look
25 at the electrocardiogram and you say ah-ha, that

1 is ischemia of the heart because there's not
2 enough oxygen to the muscle. So the angina
3 refers to the pain, the ischemia refers to
4 what's physiologically happening in the heart.

5 Q. And in the context of the previous definitions,
6 what is myocardial infarction?

7 A. Myocardial infarction is actual death of muscle
8 tissue of the heart caused by lack of adequate
9 blood supply to that part of the heart, usually
by a narrowed or closed artery.

10 Q. What is the typical sequence of angina,
11 ischemia, and/or myocardial infarction?

12 A. Well, although some patients go through all of
13 these events in a very short time frame,
14 actually some of them go through this time frame
15 in seconds, there are other patients that, and
16 probably very commonly have for a while some
17 pain which is called ischemia then they have
18 diagnostic evaluation which we can -- I'm sorry,
19 the pain is angina, the diagnostic evaluation
20 shows ischemia, and then untreated and then
21 progressive if that goes on the patient can end
22 up with a myocardial infarction.

23 Sometimes you can do things to prevent
24 that, the most common thing is called bypass
25

1 surgery, then there are other techniques of
2 actually putting wires in the arteries and
3 opening them up. There are things that prevent
4 infarction.

5 Q. Are angina and ischemia always prior in time to
6 the myocardial infarction?

7 A. No. It doesn't always happen that way.
8 Sometimes there can be what's called a
9 thrombosis or a clot in which the artery is cut
10 off on an instantaneous basis and then the
11 patient suffers instantaneous pain and the
12 beginning of a infarction or death begins right
13 at that second so there's not months that go by,
14 it's seconds that go by.

15 Q. Can you have a myocardial infarction in the
16 morning and angina in the afternoon?

17 A. Oh, I think that's quite possible.

18 Q. On the same day?

19 A. Sure.

20 Q. And can you have angina in the morning and
21 ischemia in the afternoon?

22 A. I think it's possible, too. Sure.

23 MR. MISHKIND: Objection. Move to
24 strike.

25 Q. What is meant by the term sudden death syndrome?

1 A. Sudden death really in very general terms refers
2 to a human being who looks well and does not
3 seem to be in great distress and then within
4 seconds is dead. There are numerous causes for
5 that.

6 Q. What is a fatal arrhythmia?

7 A. A fatal arrhythmia is an irregular heartbeat
8 which is so irregular that the heart is not able
9 to pump blood sufficient to keep the person
10 alive.

11 Q. Can that occur without a myocardial infarction?

12 A. Yes, it can.

13 Q. Can it occur with a myocardial infarction?

14 A. Yes, it can.

15 Q. Is it always associated with a MI, myocardial
16 infarction or a heart attack?

17 A. No, it is not.

18 Q. Is it predictable?

19 MR. MISHKIND: Objection.

20 A. Sudden cardiac death is never predictable.

21 Q. In terms of time?

22 A. In terms of time.

23 Q. Okay. Are you familiar with the statistics
24 published by any recognized or authoritative
25 references that establish annually the number of

1 deaths due to coronary vascular disease?

2 MR. MISHKIND: ~~Objection.~~

3 Q. I'm sorry, cardiovascular disease?

4 MR. MISHKIND: ~~Objection.~~

5 A. Yes, there's some material put out by the
6 American Heart Association that indicates that
7 there is --

8 MR. MISHKIND: Excuse me for one
9 second. Let me show an objection to
10 reference on direct examination to articles
11 as evidence in defendant's case in chief.

12 Go ahead, doctor.

13 A. There's articles put out by the American Heart
14 Association, cite figures in the range of just
15 under a million patients who die of
16 cardiovascular disease every year and probably
17 around half a million patients that actually
18 have heart attacks and somewhere a little over
19 half of those are defined as death which is
20 relatively sudden but not the same as the
21 definition I just gave you because the American
22 Heart Association is looking to educate people
23 with regards to seeking care when they have
24 signs and symptoms of a heart attack. So these
25 statistics indicate that when they say over half

1 of that half a million are those patients who
2 die before they can seek hospital, before they
3 choose to seek hospital care or before they can
4 seek hospital care.

5 MR. MISHKIND: Let me just
6 interject and move to strike for a number
7 of bases. Number one, again, Ohio does not
8 adopt the learned treatise on direct
9 examination; there's no opportunity to
10 cross-examine the author of these articles
11 on cross-examination; it's directly
12 prohibited on direct examination; further,
13 the answer was not responsive to the
14 question.

15 Q. Based upon your education, training and
16 experience, doctor, do you have an idea of what
17 the statistics are for the number of deaths
18 annually due to coronary vascular disease?

19 MR. MISHKIND: Objection.

20 A. As far as I understand it it's about half a
21 million.

22 Q. Okay. And do you have any idea from --

23 MR. MISHKIND: ~~Move~~ to strike.

24 Q. -- your general reading what the number of
25 deaths are due to sudden death syndrome?

1 MR. MISHKIND: Objection.

2 A. I don't think I know sudden death syndrome. I
3 think within a couple of hours of the onset of
4 symptoms the answer is a little over half of
5 those half a million according to the American
6 Heart Association.

7 MR. MISHKIND: Objection. Move to
8 strike.

9 A. As I said before, I define sudden death as
10 something instantaneous and the American Heart
11 Association is looking at this as something
12 before the person elects to seek medical care or
13 can seek medical care and they use two hours.

14 MR. MISHKIND: Objection. Move to
15 strike, reference to they, and American
16 Heart Association, same basis.

17 Q. Okay. Given those statistics, doctor, do you
18 have an explanation for the reasons for
19 patients' failure to seek treatment?

20 MR. MISHKIND: Objection.

21 A. Well, I think I'd characterize that in two ways.

22 MR. MISHKIND: Excuse me. Let me
23 just show that it's so vague and
24 speculative. GO ahead.

25 A. One is there are certain subsets of patients who

1 die before they can seek treatment even if they
2 so choose but from evaluating hundreds of
3 patients like this myself there is an element of
4 denial in all of us and that is that a patient,
5 we all tend to minimize our symptoms and hope
6 it's nothing bad and people are somewhat
7 concerned about their hearts and don't want to
8 really believe that that's the problem so one of
9 the primary reasons for this delay is that the
10 patients are denying that that could be their
11 heart and they don't come in to seek care fast
12 enough. That's the reason for the American
13 Heart Association's educational program.

14 MR. MISHKIND: Objection. Move to
15 strike.

16 Q. Are MI's, heart attacks, always presented with
17 typical symptoms?

18 A. No.

19 Q. Are there situations where they're atypical
20 symptoms?

21 A. There certainly are.

22 Q. And the cases in which there are atypical
23 symptoms, does that contribute to the delay in
24 treatment?

25 MR. MISHKIND: Objection.

1 A. Well, that's an excellent question. I don't
2 know if anyone's ever studied it. I can only
3 tell you from my personal experience that the
4 more atypical the symptoms the harder it is to
5 end up with a diagnosis even when the patient
6 presents to us in the emergency department so I
7 would have to think that normal human beings,
8 when the symptoms were not classic and not those
9 taught by the media and the American Heart
10 Association would be more likely to delay
11 seeking care.

12 MR. MISHKIND: Objection. Move to
13 strike.

14 Q. And do you have an idea what the incidence of
15 denial is among male, especially younger male
16 patients?

17 MR. MISHKIND: Objection. *W/P*

18 A. You know, I've never read a study specifically
19 on that but --

20 Q. What is your experience?

21 A. My experience is that it's very common.

22 Q. Okay.

23 MR. MISHKIND: ~~Move to strike.~~ *W/P*

24 Q. Referring or going back to John Porach, then,
25 doctor, and based upon your reading of the

1 materials, what is the understanding you have of
2 his case, what occurred on October 14th of '94?

3 A. My understanding is that he woke up along with
4 his wife and they were as usual going to go to
5 work but he felt ill and told her about the fact
6 that he did not want to go to work and he wanted
7 to stay home and characterized his illness in a
8 almost generalized, he had problems of I think
9 he was, felt sweaty, he felt weak, he felt, he
10 had diarrhea, and he was aching and I think he
11 may have had tingling in his hands at that time,
12 and indicated that he was going to stay home.

13 Later in the day, after she convinced him
14 to call for an appointment he called a
15 physician's office, described his symptoms to
16 the receptionist who indicated that she would
17 get back to him about getting in later that day
18 but could not fit him in in the morning.

19 Later in the afternoon in the mid afternoon
20 he complained as I understand it to his daughter
21 that he was having achiness in his chest at
22 least if not actual chest pains and some
23 shortness of breath and she picked up the phone,
24 dialed the doctor's office and put him on the
25 phone in which he described to the receptionist

1 that he would like to come in and get evaluated
2 since she had not gotten back to him. She said
3 she could get him in to the office and he said
4 fine, he would like to have an electrocardiogram
5 because his family felt that he should have one
6 and she agreed that that was -- she could do
7 that, and he made his way to the office driving
8 his own car and got into the office, waited in
9 the range of 30 minutes, had an
10 electrocardiogram, during that time he was not
11 reported to be in significant distress, went to
12 the bathroom, collapsed and resuscitation was
13 attempted but it was unsuccessful.

14 Q. Referring to the symptoms that were reported as
15 early as 6 in the morning, would you consider
16 those symptoms diagnostic for myocardial
17 infarction?

18 A. I would not.

19 Q. Would they be considered typical?

20 A. No.

21 Q. Atypical?

22 A. Atypical.

23 Q. As of 9:30 in the morning, if the evidence
24 establishes that before that period of time Mr
25 Porach's symptoms were relieved and eased by 7

1 in the morning and at 9:00 he called, 9:30 he
2 called and spoke to the receptionist, Jan Schoch
3 and asked if he could get an appointment that
4 day and she asked him why, or what was his
5 complaint and he said, I awoke this morning
6 feeling crummy, and she asked him, what do you
7 mean, and he said, well, I'm achy all over, I
8 have tingling in my arms, legs, including my
9 chest, and may or may not have also added that
10 he had diarrhea, cold sweats, heartburn, and/or
11 a fever.

12 And the receptionist then asked him, do you
13 have chest pain, and his answer was, no, and the
14 receptionist asked him further, do you have a
15 history of heart disease, and he answered, no,
16 and she then responded, well, I have no
17 appointments that I can get you in, but I'll try
18 and fit you in later in the day, I'll call you
19 back.

20 I want you to assume those are the facts
21 that are present in this case and I want to ask
22 you whether based upon your education, training
23 and experience you have an opinion as a matter
24 of reasonable medical probability whether the
25 present -- whether the action taken by the

1 receptionist was appropriate and reasonable
2 under the circumstances.

3 MR. MISHKIND: Before he answers
4 let me just interpose an objection to the
5 hypothetical as put because it does not
6 accurately set forth the facts that are in
7 evidence in this case or will be in
8 evidence, but go ahead.

9 MR. RISPO: Okay. Since we're in
10 deposition at this stage I'd like you to
11 ask him now on the record what did I leave
12 out.

13 MR. MISHKIND: What did you leave
14 out. The statement by Mrs. Schoch in terms
15 of the description of the symptoms.

16 MR. RISPO: Mrs. Schoch.

17 MR. MISHKIND: Mrs. Schoch has
18 already testified as to what Mr. Porach
19 said and what she said in response and the
20 way that you --

21 MR. RISPO: What was that?

22 MR. MISHKIND: And the way that
23 you've categorized it it was not accurate.
24 I'm not going to state the question
25 properly for you, but you have not

1 categorize~~d~~, You have not state~~d~~ the facts
2 accurately

3 MR RISTO: You won't even tell me
4 what it is I've left out.

5 MR. MISHKIND: This is your direct
6 examination I'm objecting Is you want
7 to reword the question

8 MR RISTO: You're going to play
9 games with me.

10 MR. MISHKIND: No. If you want to
11 reword the question.

12 MR RISTO: In absence of a
13 specific complaint or objection I would
14 consider you've waived that objection.

15 MR MISHKIND: No. My objection is
16 noted for the record the hypothetical
17 does not accurately set forth the facts.

18 If you want to reword the question to
19 accurately set forth the facts in this case
20 especially as admitted by Mrs. Schoch then
21 I would be happy to withdraw it. Absent
22 that I don't think I have to reword the
23 question for you, Mr. Risto.

24 MR RISTO: Well, I think I've
25 accurately stated the testimony of Miss Jan

1 Schoch so I'll proceed.

2 Q. Given that predicate, doctor, do you have an
3 opinion based upon your education, training and
4 experience whether as a matter of reasonable
5 medical probability the receptionist responded
6 in an appropriate manner?

7 ~~MR. MISHKIND: Objection.~~

8 A. Yes, I have an opinion.

9 Q. And what is your opinion?

10 A. That she did respond in an appropriate manner.

11 ~~MR. MISHKIND: Move to strike.~~

12 Q. Do you have an opinion based upon the same facts
13 and circumstances whether Jan Schoch acted
14 reasonably at 9:30 in the morning when she told
15 Mr. Porach that she had no openings but would
16 try to fit him in later in the day, and when she
17 did not refer him immediately to an emergency
18 room. Do you have an opinion?

19 A. Yes, I do.

20 Q. And what is your opinion?

21 A. That that was reasonable based on the
22 information she had.

23 Q. Okay. Based upon the same facts and
24 circumstances do you have an opinion as a matter
25 of reasonable medical certainty whether the

1 patient, Jack Porach, acted reasonably when he
2 omitted or failed to call for emergency
3 assistance at 6 in the morning before he even
4 called the receptionist?

5 MR. MISHKIND: Objection.

6 A. Yes, I have an opinion.

7 Q. What is your opinion?

8 A. That based on the description of the signs and
9 symptoms from his viewpoint that he should
10 have -- he did fail, he should have called for
11 help, based on the information that Miss Schoch
12 had, it was reasonable to not call for help.

13 Q. Okay. If I added the symptom shortness of
14 breath in the morning at 6:00 in the morning,
15 would that be significant?

16 A. Yes, it could be, sure.

17 Q. And how is it significant?

18 A. Well, it's just more additive information, it's
19 not definitive, it doesn't make a diagnosis but
20 it would make one more concerned if that's what
21 you heard from the patient.

22 Q. Okay. If the patient reported to his wife that
23 he had shortness of breath, aching and tingling
24 in his arms and legs, along with all of the
25 other symptoms, would it be reasonable for him

1 or her to fail to call for emergency assistance?

2 MR. MISHKIN-B: ---Objection. *W/D*

3 A. I'm going to ask you to repeat the question
4 because I think you asked if it was reasonable
5 to fail and it sounded like a double negative.
6 I'm not sure I understood.

7 Q. Reasonable for them to have waited until 9:30 in
8 the morning to call the doctor's office?

9 A. No. Based on those symptoms with the shortness
10 of breath it would have been very reasonable for
11 them to seek care right then rather than wait to
12 call the doctor's office.

13 Q. And why do you say it would be reasonable for
14 them to call for emergency care?

15 A. If he was then complaining of shortness of
16 breath and chest pain or chest achiness, then it
17 would be reasonable to at least seek immediate
18 medical advice at 6:00 in the morning. If the
19 information is characterized as more abdominal
20 discomfort and diarrhea then it would be
21 perfectly reasonable to wait.

22 Q. If, in fact, Mr. Porach did complain of
23 shortness of breath to his wife at 6 in the
24 morning but then failed to include it in his
25 symptoms when he described it to the

1 receptionist, and did describe all of the
2 previously listed symptoms including achiness in
3 the arms, legs, including his chest, diarrhea,
4 cold sweats, heartburn, and/or fever, was the
5 patient acting reasonably in failing to report
6 his shortness of breath?

7 A. No, I think --

8 ~~MR. MISHKIN: Objection.~~

9 A. Sorry. No, I think you have to describe the
10 range of symptoms that you are experiencing.

11 Q. Was the patient, Jack Porach, acting reasonably
12 when he laid around at his home from 6 in the
13 morning to at least 3:30 in the afternoon
14 without calling for an emergency medical team or
15 himself to drive him to the emergency room?

16 ~~MR. MISHKIND: Objection.~~

17 A. Well, no, my opinion is I don't think he was
18 acting reasonably although it's pretty typical
19 for patients to deny these kinds of symptoms.

20 During the time frame from 6 in the morning
21 as it was starting to get better one could see
22 why someone would tend to minimize the
23 symptoms. Once you get to the middle of the
24 afternoon when the symptoms are worse that's
25 when it was clear that he should of sought

1 emergency medical care.

2 Q. Okay. Now, I'd ask you to assume that the
3 testimony is and the facts are, as of 3:15 or
4 3:30 in the afternoon Jack Porach called Jan
5 Schoch, the receptionist in the doctor's office
6 again and asked, and introduced himself and
7 asked her again if he could get in for an
8 appointment, and her answer was, yes, come on
9 in, I'll fit you in later whenever you can ge
10 here, and then he, Jack asked, can I get an EKG,
11 and the receptionist asked, why, and his
12 response was either, my family is concerned, or
13 I -- it would make my family feel better, and
14 she answered, sure, but she did not refer him
15 immediately to an emergency room.

16 Based upon your education, training and
17 experience and knowledge of this case, including
18 the symptoms as reported earlier in the day and
19 the denial of chest pain, do you have an opinion
20 as to whether the receptionist acted reasonably
21 when she told him to come on in for an
22 appointment on the same day and did not refer
23 him immediately to the emergency room?

24 A. Yes, she did.

25 MR. MISHKIND: Excuse me for one

1 second, doctor.

2 THE WITNESS: I'm sorry.

3 MR. MISHKIND: Objection to the
4 hypothetical based upon the facts stated in
5 the hypothetical.

6 MR. RISPO: I understand there's a
7 difference of view.

8 Q. Do you have an opinion, doctor?

9 A. Yes.

10 Q. And what is your opinion?

11 A. That that was reasonable action for her to take
12 based on that information.

13 ~~MR. MISHKIND: Move to strike.~~

14 Q. And did she or Dr. Lalli breach any standard of
15 care when she took the actions and gave the
16 advice that she gave?

17 A. Not that I'm aware of.

18 Q. Assuming that Dr. Lalli instructed his
19 receptionist to listen to a patient's
20 complaints, inquire where appropriate if there
21 is any chest pain or a history of heart disease,
22 and if the patient responds positively or
23 affirmatively, instructs her to refer the
24 patient immediately to the emergency room, or in
25 the alternative if he says no, or the patient

1 says no to any of those, to schedule an
2 appointment on the same day, whether Dr. Lalli
3 acted reasonably and/or conformed with the
4 standard of care in training his receptionist?

5 A. Yes, I think that he did act reasonably and
6 conformed to the standard to do that. As a
7 matter of fact, I think Dr. Botti in his
8 deposition indicated that he actually has the
9 receptionist refer the patient to a physician
10 when they have those complaints rather than
11 directing them to the emergency department and
12 personally I think Dr. Lalli's is a better
13 approach because it gets the patient to the
14 emergency department sooner.

15 MR. MISHKIND: Objection. Move to
16 strike. Not responsive to the question.

17 Q. Okay. Do you have an opinion based upon your
18 review of this case as to what was the cause of
19 death?

20 A. Yes.

21 Q. And what was it?

22 A. I think it was ventricular fibrillation.

23 Q. And do you have an opinion as to when and how
24 many myocardial infarctions there were?

25 A. Well, I think I've said before in my deposition

1 I wasn't even sure there was a heart attack and
2 I base that on the fact that I was not very
3 impressed with the electrocardiographic changes
4 and the diffuse symptoms the patient had,
5 however, I recognize that the pathologist has
6 indicated that at least on histopathological or
7 cellular evaluation that he thinks there was one
8 and I believe I said I would defer to the
9 pathologist in that knowledge, that my view is
10 if this patient had a heart attack it certainly
11 happened at 5:30 in the morning or that range or
12 earlier than that because the electrocardiogram
13 is consistent only with an older myocardial
14 infarction, not one that's quite that fresh.

15 Q. Do you have an opinion as to what occurred later
16 in the day at 3:30 in the afternoon, how would
17 that be explained if the myocardial infarction
18 occurred at or before 5 in the morning?

19 A. Yes, I have an opinion.

20 Q. What is that?

21 A. My opinion is that the patient was having
22 anginal pain in the afternoon and I base that
23 upon the fact that he was having that complaint
24 even though he didn't characterize it very well,
25 at least there is some evidence that he told

1 some people about it; and that the
2 electrocardiogram that was taken was more
3 consistent with angina than ischemia or
4 infarction; and then thirdly, he had a sudden
5 death, that is, a death characterized that
6 occurred within seconds when he walked into the
7 bathroom. That would be consistent with angina
8 and fibrillation rather than infarction and
9 fibrillation.

10 Q. Given the facts of this case, all that we have
11 been through to this point in time, in your
12 opinion, was Mr. Porach's death avoidable?

13 A. Well, it certainly was not avoidable in the
14 office. The only way it could have been
15 avoidable would have been for him to have
16 avoided the sudden cardiac death component by
17 going into an emergency department very early in
18 the day, but in the way the case played out in
19 the doctor's office my opinion is it was not
20 avoidable.

21 Q. Okay. Among the materials that you have
22 reviewed have you seen the autopsy?

23 A. Yes.

24 Q. And in particular with reference to the findings
25 on autopsy, of moderate to severe multifocal

1 sclerotic heart disease, and 20 percent
2 blockage, this is Dr. Hoffman I'm quoting now,
3 20 percent blockage of the left coronary artery
4 and 80 percent sclerosis of the right coronary
5 artery, do you have an opinion as to whether
6 those conditions would have an effect upon
7 normal life expectancy?

8 MR. MISHKIND: Objection.

9 A. Yes.

10 Q. What is your opinion?

11 A. Well, keep in mind I don't do these statistics
12 and I'm not a cardiologist so I can't give an
13 exact number, but it is common knowledge that
14 when patients have this degree of heart disease
15 that their life expectancy is shortened by some
16 amount, I just don't know the amount.

17 MR. MISHKIND: Objection. Move to
18 strike. Lack of foundation.

19 Q. It would be reduced in any event?

20 A. Yes.

21 MR. RISPO: Thank you, doctor. I
22 have no further questions.

23 Mr. Mishkind.

24 MR. MISHKIND: Thank you very
25 much.

- - - -

CROSS-EXAMINATION OF BRUCE D. JANIAK, M.D.

BY MR. MISHKIND:

Q. Doctor, I have a few questions for you this afternoon or this morning I should say. I met you for the very first time I believe back in November of 1997 when I took your discovery deposition. You recall that, don't you?

A. Yes.

Q. And at that time you had written back in July a letter to a nurse in Mr. Rispo's office by the name of Kathleen Mulligan on Janiak Consulting, Incorporated stationery, correct?

A. That is correct.

Q. And that's the only letter that you have written relative to your opinions in this case, correct?

A. Also correct.

Q. Janiak Consulting, Incorporated, that is a private corporation that you have set up for your consulting work which primarily involves medical-legal work, correct?

A. Exactly correct.

Q. And your medical-legal work that you have been providing has been a service that you have been providing since the mid 1970's, correct?

1 A. Yes, sir.

2 Q. So the jury understands your experience in this
3 area since the 1970's, the testifying that you
4 have done has been approximately 75 to 85
5 percent as an expert witness defending a doctor
6 or a hospital, correct?

7 A. That is correct.

8 Q. And --

9 A. Closer to the higher number if you take the
10 whole spectrum.

11 Q. All right. And you have served as an expert
12 witness not only in the State of Ohio but in
13 other states as well?

14 A. Yes, sir.

15 Q. You've also served as an expert witness in
16 multiple counties in the State of Ohio, in Lucas
17 County, in Dayton, in Stark County, in Franklin
18 County, in --

19 A. Hamilton.

20 Q. Cuyahoga County, Hamilton County. Just to name
21 a few, correct?

22 A. That is correct.

23 Q. And when I took your deposition back in 1997 you
24 indicated to me at that time that you were
25 reviewing approximately 15 to 20 cases a year

1 involving medical malpractice issues, correct?

2 A. That was true for I think '96 and '97.

3 Q. And when I took your deposition I think you told
4 me that you had approximately 40 cases that you
5 were serving as an expert in one capacity or
6 another at that time?

7 A. I think that's correct. It might be more
8 actually. Now that I look back at the files.

9 Q. With this experience, doctor, that you've had as
10 an expert witness is it fair to say that you
11 have at least as of the time that I took your
12 deposition, that you had never appeared as an
13 expert witness on behalf of a plaintiff in the
14 Cleveland, Ohio area?

15 A. I think that's correct, to my memory, best of my
16 memory.

17 Q. And can we agree also that that has not changed
18 since November of 1997?

19 A. I'm not sure. I've gotten a few cases, several
20 plaintiff's cases since November, but I just
21 can't tell you if it's Cleveland, but if there
22 are any it's one.

23 Q. Okay. And, in fact, doctor, outside of the
24 Cleveland area can we agree that at the time
25 that I took your deposition and up to this

1 present time that it had been the late 70's or
2 about 20 years since you had testified in the
3 State of Ohio as an expert witness on behalf of
4 a plaintiff?

5 A. As far as my memory hasn't changed from the
6 deposition I think that's still correct.

7 Q. You give depositions on the average twice per
8 month, correct?

9 A. That was true for '96 and '97. It's not true
10 for '98 but you're right.

11 Q. Has it increased for '98?

12 A. No. It's decreased. Remarkably.

13 Q. And you told me when I took your deposition that
14 you charge \$300 per hour to provide your
15 testimony, correct?

16 A. Yes, sir.

17 Q. And has that changed?

18 A, That has not changed.

19 Q. And you told me that in 1997 in terms of
20 testifying and working in medical-legal matters
21 that the additional income that you made from
22 this consulting work was somewhere in the range
23 of \$50,000?

24 A. Right. In the range. Yes, that's what I told
25 you.

1 Q. And that constitutes approximately five to eight
2 percent of your income?

3 A. That's what I told you

4 Q. And that was accurate?

5 A. No. I went back and looked at that. It's a
6 little bit high.

7 Q. So what you told me wasn't accurate?

8 A. That's right.

9 Q. All right.

10 A. I gave you a range though so a range by
11 definition is not meant to be totally accurate.

12 Q. Well, is it still within that range or outside
13 of that range?

14 A. It's very close but I'll explain it again. It's
15 probably closer to 40,000 rather than 50,000 and
16 the eight percent is ten percent but it's pretty
17 close.

18 Q. All right. You are not an internist, are you?

19 A. I am not.

20 Q. You have never practiced as an internist?

21 A. I have never done that.

22 Q. Yet you were asked at the very beginning of the
23 deposition whether or not you had opinions
24 concerning whether or not Dr. Lalli and
25 the -- his office met accepted standards of care

1 in this case, correct?

2 A. I think I was asked, the first question I was
3 asked dealt with whether I knew about how the
4 standard of care for internists in dealing with
5 myocardial infarction and my answer dealt with
6 yes, from the standpoint of handing them off
7 from the emergency department to their initial
8 early care. The second part of it dealt with
9 the standard behaviors of a receptionist, and I
10 indicated that I felt I knew about that.

11 Q. You've had some limited experience with health
12 maintenance plans, correct?

13 A. That's correct.

14 Q. As a medical director with health maintenance
15 plans?

16 A. That is correct.

17 Q. So that in that capacity, you're familiar with
18 to a certain extent even though you've never
19 practiced as an internist in a doctor's office
20 like Dr. Lalli or Dr. Selwin or some of the
21 other experts in this case --

22 A. Yes.

23 Q. -- you've had some outside exposure to the
24 policies and procedures that go on in offices,
25 correct?

1 A. I have indeed.

2 Q. And in that connection you've indicated to me
3 previously that you realized that physicians'
4 offices have to have policies and procedures
5 that permit the office to operate in a
6 reasonable manner, correct?

7 A. I agree with that, yes.

8 Q. And you would certainly agree that a physician's
9 office has to have policies and procedures to
10 handle medical emergencies in order to operate
11 in a reasonable manner?

12 A. That was certainly one of the things we dealt
13 with in health maintenance plan.

14 Q. And you would certainly agree that a medical
15 office has to have a policy so that it's easy
16 for nonmedical personnel to know what to do or
17 not to do when a patient calls with an acute or
18 recent onset of symptoms, correct?

19 A. I believe that everyone who's in a medical
20 office who's answering the phone has to have
21 some background, training, experience,
22 guidelines to control their behavior when they
23 answer the phone.

24 Q. Because in essence those people are an extension
25 of the physician in a office, correct?

1 A. Certainly from the patient's viewpoint they are
2 and I think in reality they are, sure.

3 Q. So that the standard of care that one is
4 evaluating is the standard of care of the
5 office, sometimes the initial contact with the
6 physician is by nonmedically trained people but
7 the physician has to make sure that the office
8 is operating in a safe and reasonable manner,
9 correct?

10 A. I think that's fair, yes.

11 Q. And if it isn't then ultimately the physician is
12 the one that has violated the standard of care,
13 correct?

14 A. I think that's the way it works here in America,
15 right?

16 Q. I mean you agree with that, I'm not saying
17 anything --

18 A. Oh, sure. Sure.

19 Q. You provided opinions on direct examination
20 relative to life expectancy but yet you're not a
21 cardiologist and have never practiced as a
22 cardiologist, correct?

23 A. Exactly.

24 Q. And certainly an internist operating an office
25 on a day to day basis would be in a better

1 position to comment on the responsibilities of
2 an office staff in terms of opinions on standard
3 of care then you as an emergency room doctor,
4 correct?

5 A. Well, except that I've had a little more
6 experience in terms with the health maintenance
7 plan in looking at how offices operate so I
8 would say that I would agree with you for some
9 internists and disagree with you with others.
10 It would be an individual thing.

11 Q. At the time that you wrote your report back in
12 July 7, 1997 you had not seen the autopsy on
13 John Porach, had you?

14 A. As far as I remember I had not.

15 Q. At the time of the deposition you had not seen
16 the deposition of Mary Nary or Jacqueline DeWitt
17 or Dawn DeWitt, had you?

18 A. I think that is correct.

19 Q. In fact, doctor, at the time I took your
20 deposition you didn't even know who Mary Nary or
21 Jacqueline DeWitt or Dawn DeWitt were, did you?

22 A. I think that's correct.

23 Q. Having served as an expert witness as many times
24 as you have, doctor, you would agree that it's
25 important that you consider as much relevant

1 information as possible in order to arrive at
2 honest and objective opinions in a case,
3 correct?

4 A. I couldn't agree more.

5 Q. Now doctor, in your report -- do you have a copy
6 of your report handy?

7 A. I do if you'll hang on a second I'll look for
8 it. Unless someone else has one right in front
9 of them.

10 Q. Well, I'll let you reference -- here, I'll give
11 you a copy of it, save some time here.

12 A. Thank you.

13 Q. Sure. In your report, doctor, if you would read
14 the first two sentences, starting with, "At your
15 request"?

16 A. Certainly.

17 "At your request, I have reviewed the case
18 of Porach versus Lalli. I find that this was a
19 gentleman who was having nonspecific discomfort
20 with tingling in his arms and legs and diarrhea
21 and other symptomatology who called the
22 physician's office for an appointment. They
23 were able to 'get him in' during the afternoon
24 of the phone call."

25 Q. Okay. Now, in your report you make no mention

1 other than tingling in the arms and legs and
2 diarrhea, you don't make any mention at all
3 about aching in the chest, in the arms, in the
4 head, in that report, do you?

5 A. That's right. Specifically I do not do that,
6 you're right.

7 Q. And the patient requesting electrocardiogram
8 that you mentioned in the report, have
9 you -- are you aware of the fact that the
10 stepdaughter was present when the telephone call
11 was made to the doctor's office in the
12 afternoon?

13 A. Right. I think her name was Jacqueline.

14 Q. Okay. And is there -- I take it you are
15 accepting the testimony of Mrs. Schoch and
16 rejecting the testimony of Jacqueline in terms
17 of concluding that it was Mr. Porach that
18 requested the electrocardiogram?

19 A. Yes.

20 Q. And you would agree, doctor, that Mr. Porach
21 when he woke up in the morning, had a number of
22 symptoms which could be descriptive of a number
23 of different conditions?

24 A. Yes, sir.

25 Q. And certainly a patient doesn't diagnose his own

1 condition, correct?

2 A. Correct.

3 Q. The fact that the patient stayed home from work
4 in order to seek medical care is a reasonable
5 thing for the patient to do, correct?

6 A. I guess the way I understand it is he stayed
7 home from work because he didn't feel well and
8 then the decision to seek medical care was
9 something that happened after his decision to
10 stay home from work.

11 Q. When did the decision to seek medical care take
12 place based upon your review in this case?

13 A. Well, that would have been at 9 something in the
14 morning when he made a phone call. That's the
15 first objective evidence we have of that.

16 Q. Okay. And certainly if the facts are different
17 in terms of when the decision to seek medical
18 care occurred, that might affect some of the
19 opinions that you hold in this case?

20 A. Always possible.

21 Q. If the patient stayed home from work and the
22 decision to seek medical care was at the time,
23 the point in time, the decision to seek medical
24 care was made at the point in time when his
25 symptoms occurred that would certainly be a

1 reasonable thing for the patient to do, correct?

2 A. To seek medical care, yes.

3 Q. And to make the decision to seek medical care
4 more importantly. You got to make the decision
5 first before you seek it?

6 A. Yes. I agree with you. I see what you're
7 saying.

8 Q. And if the patient's symptoms subsided somewhat
9 and the patient was feel somewhat better would
10 it be reasonable for a patient to say that he
11 would call his doctor's office as soon as the
12 doctor's office called to obtain an opinion from
13 the doctor?

14 A. Yes. Well, obviously, it depends on what the
15 symptoms are in a generic patient, but yes, that
16 would be reasonable as I think I indicated
17 earlier.

18 Q. And certainly if a patient calls a doctor's
19 office, you would expect that there would be
20 enough discussion between the patient and the
21 doctor's office so that decisions can be made as
22 to whether or not the patient needs to be seen
23 or whether or not the patient doesn't need to be
24 seen, correct?

25 A. I'm not sure about that and I don't want to be

1 unfair, I want to try to answer the question.

2 Q. Go right ahead, doctor.

3 A. I can see a decision between needs to be seen in
4 the office or needs to be seen elsewhere, but
5 needs to be seen versus doesn't need to be seen,
6 I'm not aware of an office saying they won't see
7 anybody. There might be a time frame, it might
8 be next week or three days or a month but I
9 don't think never seeing them is an option.
10 That's what I was getting at.

11 Q. Do you have any understanding as to what Dr.
12 Lalli's policy was with regard to which patients
13 his receptionist would turn over to the doctor
14 to talk to and which patients would be scheduled
15 for an appointment as the time allowed?

16 A. Only with respect to patients that appeared to
17 be having significant coronary symptoms. My
18 understanding is is that Miss Schoch would be
19 authorized to tell the patient to seek emergency
20 care evaluation on an immediate basis. In terms
21 of patients with other complaints, abdominal,
22 urological complaints, I do not have an
23 understanding of those.

24 Q. And you didn't gather such an understanding from
25 your review of the depositions in this case?

1 A. I did not.

2 Q. Okay. How long had Mr. Porach been a patient of
3 Dr. Lalli's based upon your review in this case?

4 A. I'd have to go back and look at that.

5 Q. When I took your deposition --

6 A. I had to look it up then, too.

7 Q. You didn't have a specific recollection of that
8 at that time --

9 A. Right.

10 Q. -- and as you sit here right now --

11 A. Right this second, no. That's a piece of
12 information that keeps slipping out of my mind.

13 Q. Okay. Do you know what Mr. Porach's cholesterol
14 level was?

15 A. I want to guess -- that's not fair. 252 or
16 something like that but I don't -- I'm not sure
17 I'm right.

18 Q. Are there medications that are on the market for
19 treatment of people with high cholesterol?

20 A. Yes, there are.

21 Q. Is that something new or is that something
22 that's been on the market for --

23 A. It's been on the market for a number of years,
24 probably in excess of ten years and of course
25 there's also other things you do for

1 cholesterol. Exercise and diet.

2 Q. In addition to exercise and diet, there are
3 medications that doctors, internists and the
4 like can get patients to help reduce the
5 cholesterol levels?

6 A. That's what they're supposed to do.

7 Q. Okay. Do you know where Dr. Lalli was when Mr.
8 Porach called in the morning?

9 A. No. I think that was my answer in the
10 deposition, too, I don't know where he was
11 during that first phone call.

12 Q. Do you know where Dr. Lalli was when Mr. Porach
13 called in the afternoon on October 14th?

14 A. Yes, I do. He was in the office.

15 Q. And certainly you would agree with me that based
16 upon your review in the case Mrs. Shock promised
17 Mr. Porach that she would get back in touch with
18 him after their first telephone call, correct?

19 A. Yes, that's what I understand.

20 Q. But she never did call back, did she?

21 A. That's right. She didn't call, he called
22 instead.

23 Q. Right. He called at 3:15, 3:30 in the
24 afternoon?

25 A. Exactly.

1 Q. There's no evidence as to the fact that she
2 tried to reach him before that, is there?

3 A. No. I think the evidence is to the contrary,
4 that she had not accomplished that at that
5 point.

6 Q. Okay. And do you have any evidence as you sit
7 here right now that would indicate to you that
8 she was, in fact, going to call him back that
9 day?

10 A. Well, I think she indicated that she had a
11 series of notes or of tests that she would
12 accomplish during the day and that would
13 normally be her policy is to go back and do what
14 she said she was going to do but I don't know
15 there could be any evidence that would predict
16 the future, in other words. I have no evidence
17 for that.

18 Q. And certainly when you say about a series of
19 tests she had to do and policies, did you gather
20 that from something that you read in her
21 deposition?

22 A. I think in her deposition she said she kept a
23 series of notes on a piece of paper of little
24 tests that she had to accomplish as the day went
25 on.

1 Q. And she also said you recall in the deposition
2 that she would mark down symptoms that a patient
3 would call up in in like a day sheet or a --

4 A. Yes, I think.

5 Q. Or I think a note pad?

6 A. Yeah. Excuse me. Yes, I think she did.

7 Q. All right. And have you ever seen those notes?

8 A. No. As a matter of fact, I think she testified
9 that they were destroyed every day.

10 Q. Do you find it at all unusual, doctor, that a
11 patient that calls twice to a doctor's office,
12 that communicates complaints to the doctor's
13 office, that then essentially dies in the
14 doctor's office or very close to dies in the
15 doctor's office, has ventricular fibrillation in
16 the doctor's office later that day, that the
17 notes written about the patient's symptoms would
18 not be retained by the doctor's office?

19 A. I've never actually thought about that. I don't
20 know whether that would be unusual or not
21 because that piece of her operation I don't, I
22 just don't have any knowledge about. I don't
23 know.

24 Q. Let me ask you about an emergency room. If
25 someone calls, if someone comes into an

1 emergency room and something's marked down
2 relative to the patient's symptoms or a patient
3 calls in and then comes in to the emergency
4 room, is information put down and maintained in
5 the patient's chart relative to the symptoms
6 that the patient described?

7 A. Let me describe what happens in my emergency
8 department. We get phone calls from either
9 physician's offices or from patients or people
10 referring patients to us and there is a note
11 created that indicates that Mr. or Mrs. So and
12 So will be in with a certain kind of complaint.
13 When that patient arrives, if there is further
14 instruction, i.e., please -- let's say a private
15 physician has called us and the physician will
16 say please check the patient and call me, that
17 note is kept until he or she is called and then
18 it is destroyed, so that would be, we would
19 throw the same piece of information away that
20 the office did.

21 Q. You would expect that there would be some
22 information recorded once the patient comes in
23 in the chart indicating what had transpired,
24 though?

25 A. Oh, yes, absolutely.

1 Q. And in this case, is there any indication that
2 the symptoms that the patient described in
3 the -- in either of the telephone calls was
4 recorded in Mr. Porach's chart?

5 A. I see what you're asking and the answer is I
6 don't think there is anything like that, right.

7 Q. Mr. Porach certainly was considered enough about
8 his condition to call the doctor's office when
9 the doctor's office opened, correct?

10 A. Well, I think that's part of it and part of it
11 is the testimony indicated is his family was
12 pushing him to do so, so it's a combination of
13 his concern and their concern.

14 Q. I'd like for you to explain to the jury when you
15 say the family was pushing him to the call the
16 office in the morning. Where did you gather
17 that idea?

18 A. Well, I think his wife indicated that she, that
19 when he looked sick she indicated why don't you
20 call the doctor and get something done and I
21 think the daughter is the one who actually
22 picked up the phone and dialed the call so that
23 would be I think pushing to get communication
24 established.

25 Q Doctor, you're talking about two different

1 episodes. I'm talking about in the morning, was
2 it reasonable for Mr. Porach to have made the
3 telephone call to the doctor's office?

4 A. Oh, yes. I'm sorry. Maybe I misunderstood
5 you. Sure.

6 Q. And you said that someone was pushing him to
7 make the telephone call in the morning. Who was
8 it that was pushing him?

9 A. I think his wife suggested that he do that. You
10 asked if it was all his doing and I said as for
11 most of us we have our relatives who say why
12 don't you call and get that checked. It's not a
13 major issue, it's just normal.

14 Q. You're not faulting Mr. Porach for calling the
15 office when the office opened in the morning
16 because he felt ill and wanted some help to
17 determine what was wrong with him, are you?

18 A. No, not at all.

19 Q. That was certainly a reasonable thing for him to
20 do?

21 A. Absolutely.

22 Q. And do patients, if you have such knowledge do
23 patients call doctor's offices sometimes with a
24 set of symptoms and not knowing whether or not
25 they need to talk to the doctor or whether they

1 just need to schedule an appointment to come in
2 to see the doctor?

3 A. I would say that's very common.

4 Q. And frequently that's a decision that's made by
5 the doctor's office as to whether or not the
6 symptoms need to be seen right away or whether
7 they're just going to schedule the patient,
8 correct?

9 A. I would say that is true.

10 Q. And if the office is too busy to see the patient
11 but the symptoms are described in a sufficient
12 manner to cause some degree of concern would you
13 agree that instructions need to be given to the
14 patient so that he or she knows to go to the
15 urgent care center or the emergency room because
16 we just simply can't fit you in?

17 A. Sure. It's the subjectivity of the way the
18 symptoms are described.

19 Q. And if instructions aren't given to the patient
20 under those circumstances, would you agree that
21 that would not be in compliance with accepted
22 standards of practice?

23 A. If the patient describes symptoms that are
24 triggering symptoms for the office staff that
25 they knew or should have known were triggering

1 symptoms and are described in a way that
2 convinces them that that's what's going on then
3 they should follow their own unwritten if
4 necessary policies and procedures and refer the
5 patient for immediate care.

6 Q. And that would be the reasonable and prudent
7 thing to do in order to comply with accepted
8 standards of care?

9 A. I agree.

10 Q. Failing to do that would be a violation, it
11 would be negligence?

12 A. Well, I don't know that I can personally make
13 the decision of the negligence because I know
14 it's a legal term but yes, it would be a
15 certainly a failure of the standard failing to
16 do that, sure.

17 Q. And it's Dr. Lalli's responsibility to assure
18 that all of his personnel are appropriately
19 instructed and are capable of performing within
20 their job description in doing their job
21 especially as it relates to triaging of
22 telephone calls?

23 A. Let me answer your question technically because
24 I think from your questioning viewpoint, yes, in
25 terms of interacting with patients but you said

1 all of his personnel and some personnel who
2 don't have patient contact can be instructed by
3 those who do. There's office hierarchy, see
4 what I mean. If it's a lab tech it may be
5 irrelevant but for your purposes for
6 communicating with patients I agree with you.

7 Q. I agree with you as well, someone that doesn't,
8 isn't going to have contact with patients on the
9 phone doesn't need to have that kind of acuity
10 or that kind of knowledge from Dr. Lalli as to
11 someone that is going to be having day-to-day
12 contact?

13 A. Correct.

14 Q. Sort of on the front line so to speak.

15 A. Right.

16 Q. Because essentially those people are the
17 gatekeeper to the doctor's office, correct?

18 A. Exactly.

19 Q. And you have to have the gatekeeper doing the
20 right work otherwise the door isn't opened at
21 the appropriate times?

22 A. Right. Or the physician is so tied up with
23 doing the gatekeeping himself or herself that
24 they can't function.

25 Q. Right. And they might as well go ahead and

1 answer the phone themselves?

2 A. Exactly.

3 Q. But on the other hand, the physician is there to
4 respond to his patients and if the physician is
5 too busy to respond to them he also has a duty,
6 does he not, to make sure that the patient isn't
7 left out in the cold so to speak?

8 A. I agree.

9 Q. Okay. Now, when I took your deposition I asked
10 you whether or not you felt that Mr. Porach had
11 had a myocardial infarction and I think you told
12 me at that time that you were not sure, correct?

13 A. Yes, I think I said that, yes.

14 Q. And at that time when I took your deposition you
15 already had Dr. Hoffman's opinions, you had the
16 autopsy information, and yet you told me at that
17 point that you weren't certain whether he did
18 suffer a myocardial infarction, correct?

19 A. Yes.

20 Q. And doctor, if all of the experts testify in
21 this case that to a reasonable degree of medical
22 certainty Mr. Porach did suffer a myocardial
23 infarction, including Dr. Barry Effron,
24 cardiologist retained by Dr. Lalli, would you
25 defer to those experts with regard to whether or

1 not he did, in fact, have a myocardial
2 infarction or do you still stand on your opinion
3 that he didn't have a heart attack?

4 A. No, I think I would defer to them, I think he
5 had one. The issue is timing, obviously.

6 Q. There's certainly no evidence to suggest that he
7 had a heart attack, at least to a reasonable
8 degree of medical probability -- strike that.

9 There's no evidence to suggest that he had
10 more than one heart attack, is there?

11 A. I didn't see that.

12 Q. And if he had one heart attack and the evidence
13 suggests that the heart attack occurred sometime
14 no earlier than four hours before his death and
15 no later than 12 hours after his death -- excuse
16 me, let me rephrase that.

17 No earlier than four hours before his death
18 and no later than 12 hours before his death, and
19 that's the testimony of Dr. Hoffman, you would
20 not have any basis whatsoever to dispute that,
21 would you?

22 A. No. That's based on his histology, I'm not a
23 pathologist, I can't dispute that. The only
24 basis I would have would be on the
25 electrocardiographic findings which are

1 consistent with something older than 12 hours,
2 but I can't dispute his opinion based on his
3 evidence.

4 Q. And certainly when one looks at an
5 electrocardiogram you don't just diagnose a
6 heart attack based upon looking at a
7 electrocardiogram?

8 A. Absolutely you do not.

9 Q. You need to have symptoms described by the
10 patient and then you look at the
11 electrocardiogram and you decide whether or not
12 the picture fits together, correct?

13 A. Yes. It's a little bit complicated. I suppose
14 I could have been a little inaccurate because
15 it's possible in this extremely classical case
16 to see a obvious heart attack, an acute heart
17 attack on electrocardiogram in a patient as you
18 suggested that doesn't have the correct symptoms
19 and you'd have to scratch your head and say, am
20 I right, is this an error in the cardiogram and
21 maybe redo it, but that would be very, very rare
22 instance.

23 Q. Can we agree that the electrocardiogram that was
24 done in the doctor's office was done after Mr.
25 Porach had already collapsed in the office, had

1 already experienced ventricular fibrillation,
2 cardiac arrest, was taken off to Fairview
3 General Hospital for further resuscitative
4 efforts?

5 MR. RISPO: Excuse me?

6 A. No, I don't think we can.

7 MR. RISPO: Did you say the EKG was
8 taken after?

9 Q. I'm sorry, the interpretation, excuse me. I'm
10 sorry. I stand corrected.

11 The interpretation on the EKG was made
12 after the patient had been in the office, had
13 experienced ventricular fibrillation, had
14 experienced cardiac arrest and had been taken to
15 Fairview General Hospital?

16 A. I wouldn't have any reason to dispute that but,
17 and I don't know when. If you're talking about
18 Dr. Lalli's handwritten interpretation, it's not
19 timed so I don't know when he would have written
20 that but it was certainly done after the
21 cardiogram was taken but how long after, I don't
22 know.

23 Q. The best place to be, doctor, would you agree,
24 to survive if one is going to experience
25 ventricular fibrillation is in an emergency

1 department or in an intensive care unit?

2 A. Intensive or coronary care unit, the data show
3 that's the best place to be.

4 Q. And the reason you want to be there is because
5 there's the equipment to cardiovert your
6 electrical rhythm or to provide antiarrhythmic
7 medications to try to combat that electrical
8 disturbance?

9 A. Exactly.

10 Q. And ventricular fibrillation can occur several
11 hours to many hours after a myocardial
12 infarction, correct?

13 A. Right. As the hours go by, the frequency
14 decreases but it's always there. There's
15 always, in any of us, a tiny chance that it
16 could happen.

17 Q. And would you agree that there is a direct
18 causal relationship in this case between the
19 myocardial infarction that Mr. Porach suffered
20 and the ultimate ventricular fibrillation that
21 he experienced?

22 A. Yes, I think there is, we just don't know the
23 time, which we just discussed, we don't know how
24 many hours, but there should be a direct
25 relationship between some damage or ischemia or

1 something caused by the heart attack, whether it
2 was weeks or days or hours ago, and the
3 fibrillation.

4 Q. But in terms of the actual time as to when he
5 had the onset of the heart attack, that is
6 something from a pathologic standpoint that you
7 would defer to Dr. Hoffman?

8 A. That's what I said, yes.

9 Q. And knowing as we do now when the heart attack
10 occurred, you certainly agree that there's a
11 direct causal relationship between the
12 myocardial infarction that he had and the
13 ventricular fibrillation that developed,
14 correct?

15 A. I'm saying that that would be true even if we
16 didn't know when it occurred.

17 Q. But we do, we have the benefit of that because
18 of the autopsy information, correct?

19 A. Well, we have the autopsy information but I said
20 I can't dispute what he found at autopsy but the
21 cardiogram is not consistent in timing and
22 obviously there aren't any medical tests that
23 are always perfectly coincidental.

24 MR. MISHKIND: Let's go off the
25 record for just one second, please.

1 VIDEO TECHNICIAN: Off the record.

2 - - - -

3 (Thereupon, a discussion was had off
4 the record.)

5 - - - -

6 VIDEO TECHNICIAN: On the record.

7 Q. What is your definition of the term ache?

8 A. Ache. I cannot describe it any further than a
9 discomfort in some part of the body. It's
10 obviously an extremely subjective term.

11 Q. And are you familiar with the medical definition
12 of that in the medical --

13 A. The Dorland's Dictionary --

14 Q. Yes.

15 A. -- or one of the --

16 Q. Yes.

17 A. No. I'd have to go back and look that up.

18 Q. Okay. So if it indicated that ache is defined
19 as pain you wouldn't dispute that, would you?

20 A. Boy, ache defined as pain. No. That's fine.
21 That's a stretch to me but if that's what the
22 dictionary wants to say, that's fine.

23 Q. As far as your statement in your report that the
24 patient did not verbalize chest pain to the
25 receptionist prior to coming to the office,

again, you are entirely discounting the
2 testimony of Dawn DeWitt when she said that she
3 was present and heard her stepfather say on the
4 phone, this is Jack Porach calling back again, I
5 have chest pain, shortness of breath, and then
6 there was silence on the line and he then got
7 off the phone and said to her that we're going
8 into the office, they told me to come into the
9 office for an EKG, you would dispute that, or
10 you have eliminated that from your consideration
11 in this case, correct?

12 MR. RISPO: Objection. Only
13 because you said Dawn DeWitt.

14 Q. I'm sorry. Jackie.

15 A. Yes. I understand. Yes, I'm discounting that,
16 yes.

17 Q. And you are, for purposes of the jury, you are
18 accepting Mrs. Schoch's testimony that he called
19 up and said hi, this is Jack Porach, I'm calling
20 back again, can you fit me in, and by the way,
21 my family's concerned about me so can I come in
22 for an EKG?

23 A. Yes, sir.

24 Q. That's what you're accepting?

25 A. Yes, I am.

1 Q. Okay. And in a patient that does not have any
2 cardiac history, that does not have any
3 complaints of pain, chest pain, you don't find
4 that at all unusual that they would call up, a
5 patient would call up, request to come into the
6 office, request an EKG when there's no
7 indication at all that they're having cardiac
8 symptoms?

9 A. Right. I would not find it unusual that they
10 would request an EKG, but remember that there's
11 testimony that she asked if he was having chest
12 pain when he requested the EKG and once he said
13 no, and I'm accepting that testimony that that
14 was totally reasonable because I don't think
15 it's that unusual for patients to request these
16 tests.

17 Q. And if what you just said is not an accurate
18 statement of the facts in this case might that
19 affect the opinions that you hold?

20 A. As I said before, anything that changes what
21 ends up being accurate might affect my opinion.

22 Q. Okay. And I just want to make sure that the
23 jury understands what you've just said and if
24 that's not an accurate statement of the facts
25 that might affect the opinions.

1 A. Absolutely might. I agree with that.

2 Q. All right. Thank you very much.

3 We can certainly agree that if Mr. Porach
4 had been directed to an emergency room in the
5 morning of October 14, 1994 and if he had had
6 even vague symptoms suggesting myocardial
7 ischemia or vague symptoms suggesting the
8 potential for myocardial infarct he would have
9 immediately been placed on continuous monitoring
10 with a stat ECG or EKG being obtained, correct?

11 A. I don't dispute that those things would have
12 happened. I have a dispute with 1994 and
13 immediately because I think the whole medical,
14 the whole emergency medical profession was
15 heading towards immediately but if you're
16 talking about the standard of care in 1994, it
17 might have been within 20 minutes or 30
18 minutes. Just to show how things have changed,
19 if this happened today you'd be more accurate.

20 Q. But doctor, we have to talk about 1994.

21 A. Exactly. So that's what I'm doing.

22 Q. So it would have been within 20 minutes rather
23 then a fire sale type of thing.

24 A. Sure. That's all I'm trying to say.

25 Q. And because the greatest reduction in mortality

1 occurs in patients treated early time is of the
2 essence when they arrive in the emergency room
3 and in 1994 that time of the essence was to get
4 them on continuous monitoring with stat EKG
5 within 20 minutes or so?

6 A. 20 minutes or so, sure.

7 Q. And part of the workup for someone that has even
8 vague symptoms suggesting a myocardial
9 infarction would be cardiac enzymes?

10 A. Yes, if you're going to do the electrocardiogram
11 in 1994 then cardiac enzymes would be part of it
12 assuming the electrocardiogram doesn't give you
13 the diagnosis.

14 Q. And the cardiac enzymes would take a while to
15 come back, wouldn't they?

16 A. Yes. That's changed, too. In '94 we're
17 probably talking an hour.

18 Q. In the meantime the patient's not being left to
19 go home from the hospital, the patient is
20 continuously being monitored while a number of
21 things are going on to make sure that the
22 patient is stable and is safe and either is
23 having a heart attack or isn't having a heart
24 attack, correct?

25 A. You are correct.

1 Q. The greatest survival improvement can be
2 anticipated with an anterior infarction,
3 correct?

4 A. Yes. There are more complications with an
5 inferior infarction.

6 Q. Okay. Mr. Porach had an anterior infarction,
7 though, correct?

8 A. Yes. The electrocardiogram shows that.

9 Q. And the measures that are implemented in an
10 emergency room such as the facility here or
11 facilities back in Cleveland, where there is a
12 concern and a workup being done as to whether or
13 not the patient is having a heart attack
14 includes initial measures such as providing
15 medication to relieve pain, to improve
16 oxygenation, to provide vasodilation as
17 necessary, and to be in a position to control
18 any arrhythmias that may develop, correct?

19 A. All those things are true. The vasodilation
20 dilation would be the least consistent of all
21 those but yes, in general they're true.

22 Q. And if a patient were to be directed to the
23 emergency room from his primary care physician
24 where the differential would include a number of
25 things, including a heart attack or potentially

1 less serious things such as the flu, arriving at
2 the hospital there would be a detailed history
3 that would be taken from the patient, correct?

4 A. Yes. Correct.

5 Q. And usually in most emergency rooms isn't the
6 history taken by a nurse and then by the
7 physician?

8 A. I think that's true. The first history would be
9 either by the triage -- actually, sometimes,
10 which is very annoying to patients, it's taken
11 by the triage nurse and then the nurse in the
12 room and then the physician.

13 Q. And the reason being is because they're in this
14 acute setting and you want to make sure that all
15 of the history and all of the facts are derived,
16 correct?

17 A. All the relevant ones, yes.

18 Q. Sure. So that directing someone that has a
19 differential of a potential heart attack to an
20 emergency room also has the potential of
21 eliciting a far greater medical history than
22 trying to get something over the telephone,
23 correct?

24 A. Oh, I think that -- sure, absolutely. It's much
25 easier in person than over the phone.

1 Q. Dr. Janiak, would you agree that if Mrs. Schoch
2 told Jack Porach that his symptoms sounded like
3 the flu that that would not be appropriate for
4 her to have done?

5 A. No, I would not agree that that would be
6 inappropriate, no.

7 Q. So that if Dr. Lalli has testified and I suspect
8 will testify that Mrs. Schoch was not supposed
9 to tell patients that their symptoms sounded
10 like the flu, over the phone, then you
11 essentially would disagree with Dr. Lalli
12 himself, correct?

13 A. I guess there's two issues. First of all, I
14 think you're correct, but I would not personally
15 think it was inappropriate but if he told her
16 don't do that and she did, then that would be
17 inappropriate in her job.

18 Q. And certainly in a doctor's office that has to
19 have policies and procedures that are provided
20 by the physician, so that the nonmedically
21 trained people know what to do correctly and
22 properly, if that's the requirement and the
23 receptionist violates that, that's a violation
24 of the standard of care, correct?

25 A. No. I think it's a violation of the

1 requirement. I can't say it's a violation of
2 the standard of care.

3 Q. You would defer to an internist on that point?

4 A. I don't even know if anybody knows what the
5 standard is on that point. I don't know who I
6 would defer to.

7 Q. Well, you certainly recognize that a
8 receptionist being a nonmedically related person
9 is an extension of the physician, right?

10 A. Absolutely.

11 Q. And so certainly the standard of care is the
12 standard of care for the physician merely
13 because the receptionist happens to be the one
14 that is gathering the information, you're still
15 judging it by what a reasonable and prudent
16 practitioner would have done under like or
17 similar circumstances, correct?

18 A. No. I don't believe that's true at all.

19 Q. Well, do you feel that there is a standard of
20 care for a receptionist?

21 A. No. I think I said there was not but I can't
22 agree that the standard of care for a physician
23 is the same as the standard of care for a nurse
24 or for a receptionist.

25 Q. Can you explain to me then doctor why at Page 90

1 of your deposition, Line 10 you indicated to me
2 on the fact that if you say your symptoms sound
3 like the flu that's a far different case than
4 saying you have the flu. I think receptionists
5 have an ability and can within the standard of
6 care be somewhat reassuring to patients without
7 making diagnoses.

8 Did you tell me that at the time of your
9 deposition?

10 A. Yes.

11 Q. Well, when you said that they can, the
12 receptionist can within the standard of care,
13 what standard of care are you referring to?

14 A. Well, first of all I indicated there was no
15 written standard of care.

16 Q. But I'm asking you now what standard of care are
17 you referring to, forgetting about whether it's
18 written or oral when you said that in your
19 deposition. Can you tell me that?

20 A. Sure. The experiential one that I have knowing
21 how receptionists deal with people and knowing
22 how my clerks deal with patients when they talk
23 to them and how my -- years ago how my clerks
24 dealt before we had triage nurses with
25 patients. It's reasonable to be somewhat

1 reassuring.

2 Just for an example, if a patient calls up
3 an office and says gee, I really have this
4 aching all over, I'm tingling, I'm sweating, and
5 I have diarrhea, gee, do you think it could be
6 the flu. For the receptionist to say could be,
7 I think you ought to be seen would be reasonable
8 behavior within the standard of care in which
9 the receptionist never really told him what the
10 diagnosis was.

11 I will admit though that many patients will
12 accept that as the diagnosis because they listen
13 to what part they want to listen to and that's
14 by way of explaining my opinion.

15 Q. Okay. Well, thank you very much for sharing
16 that with me.

17 A. You're welcome, sir.

18 Q. If Mr. Porach had been directed to the emergency
19 room in the afternoon of October 14, 1994 at the
20 time that he made his telephone call sometime
21 between 3:15 and 3:30, or had been told to call
22 911 and was transported to an emergency room,
23 again, he would have been put into a monitored
24 setting, a cardiac consultation would have been
25 obtained?

1 A. Or an internist, not necessarily cardiac.

2 Q. And certainly he in all likelihood would have
3 been in the hospital at the time that, assuming
4 that he would have developed a ventricular
5 fibrillation, he would have been in the hospital
6 at the time that he went in to defib, correct?

7 A. I would say it would be very likely he would be
8 in the emergency department still but he
9 certainly would be in the hospital.

10 Q. And he would have been hooked up to monitors?

11 A. Correct.

12 Q. He would have had an I.V. in him?

13 A. Yes.

14 Q. They would have been in an immediate position to
15 provide cardioversion, cardiac treatment to
16 cardiovert his rhythm?

17 A. I agree.

18 Q. And he would have had a substantially greater
19 probability of surviving a ventricular
20 fibrillation than being in a doctor's office
21 where there is not that kind of emergency
22 medical attention?

23 A. I agree completely. For the short term. We
24 don't know about years but we certainly know
25 about right then, yes, you're exactly right.

1 Q. And you're certainly not going to provide
2 opinions with regard to the long term in terms
3 of years, correct?

4 A. I am not.

5 MR. MISHKIND: I don't believe I
6 have any further questions for you,
7 doctor. I thank you for your time.

8 THE WITNESS: Thank you, sir.

9 - - - -

10 REDIRECT EXAMINATION OF BRUCE D. JANIAK, M.D.

11 BY MR. RISPO:

12 Q. Doctor, if you're okay we can continue.

13 A. Sure.

14 Q. In light of all the points raised by Mr.
15 Mishkind in his discussion of the case with you,
16 do you have any reason to reconsider or modify
17 any of the opinions that you've previously
18 stated in this deposition?

19 A. No, sir.

20 Q. Or in your reports earlier?

21 A. I do not.

22 Q. Were your opinions today given based upon the
23 facts as I have recounted them to you and the
24 assumptions, including the trial testimony as
25 adduced thus far as described by Jan Schoch

1 among others?

2 A. Amongst -- opinions based on that plus the
3 materials, sure.

4 Q. Okay. And you have discounted however the
5 testimony of Jacqueline DeWitt?

6 A. Yes, sir.

7 Q. In your experience is the recollection of a
8 12-year-old girl such as the stepdaughter here,
9 Jacqueline DeWitt, several years ago reliable in
10 establishing a medical diagnosis?

11 MR. MISHKIND: Objection. How can
12 he possibly testify to that.

13 Go ahead, doctor.

14 A. My answer, my answer would be I certainly would
15 not use as defining characteristics being female
16 or being 12 to being 3, but being female or 12,
17 not at all, but patients in general have a
18 certain impression they take away from most
19 medical interactions, which in retrospect is not
20 the same impression that is garnered by the
21 medical professionals or their ancillary help.

22 As a matter of fact, if we could solve this
23 problem and learn how to really understand
24 what's in a patient's heads we would be doing a
25 lot better medicine but unfortunately as I can

1 testify from, you know, hundreds of
2 miscommunications over 25 years of emergency
3 medicine between myself and my staff and our
4 patients, patients will say that we've for
5 instance never examined them, no doctor was ever
6 in the room yet we have records that prove that
7 so there's a wide range of impressions patients
8 get and that is why I discounted that testimony.

9 MR. MISHKIND: Objection. Move to
10 strike.

11 Q. If the evidence at trial is that the patient,
12 Jack Porach, awoke sometime between 5 and 6 in
13 the morning and described certain symptoms to
14 his wife which included shortness of breath,
15 diarrhea, tingling in the arms and legs and so
16 forth, but then later at 7 in the morning
17 described those symptoms as easing or
18 moderating, and that he did not report the same
19 symptoms at 7 a.m. or at 9:30 a.m. to Jan Schoch
20 or at 11:00 to his mother-in-law, Mary Nary when
21 she called and he did not report the same
22 symptoms to Jan Porach, his wife when she called
23 home at 12 noon, and he did not report any
24 complaints of shortness of breath or chest pain
25 to his daughter, Jacqueline DeWitt when she

1 awoke that day around 12 noon, and he did not
2 report the symptoms of chest pain or shortness
3 of breath to Jan -- Jacqueline DeWitt at 2:00
4 when she spoke to him in between General
5 Hospital and One Life To Live, and if the
6 evidence was that he, the testimony of
7 Jacqueline DeWitt was that he did complain,
8 however, of shortness of breath and chest pain
9 at 3:15, but that even Jacqueline DeWitt
10 reported that on the hour, one hour trip from
11 his home to Fairview General, excuse me, not to
12 the emergency room, but to the doctor's office
13 at Fairview Hospital, that he made no further
14 report or complaint of chest pain or radiating
15 pain or shortness of breath in the car, and if
16 the evidence is that the patient reported to the
17 doctor's office and the reception desk and asked
18 the receptionist to stamp his parking ticket but
19 made no complaint or reference to shortness of
20 breath or radiating pain and that he sat in the
21 reception room in the doctor's office for
22 approximately 20 to 30 minutes longer without
23 making any complaint even in the testimony of
24 his stepdaughter of the same symptoms of chest
25 pain or shortness of breath, and if the evidence

1 is that the receptionist approached him at about
2 5:30 p.m. and invited him into one of the
3 examining rooms where she conducted an EKG exam
4 and that he made no report of complaint of
5 shortness of breath or radiating pain while the
6 EKG was in progress or immediately thereafter,
7 do you have an opinion based upon your
8 experience and training whether the stepdaughter
9 was a more reliable historian than the
10 receptionist, Jan Schoch who had 30 years of
11 experience in the doctor's office?

12 MR. MISHKIND: ~~Objection.~~

13 A. Yes.

14 Q. And what is your opinion?

15 A. That the receptionist would be better at taking
16 that information than a, his daughter or if it
17 had to be a son, a son.

18 MR. MISHKIND: ~~Objection. Move to~~
19 ~~strike.~~

20 Q. Is it still your opinion that Dr. Lalli acted
21 appropriately in instructing his patient -- his
22 receptionist to refer to emergency room or
23 immediate medical care patients who complained
24 of all three or some one of the complaints of
25 aching or pain in the chest radiating to the

1 arms or shortness of breath, is it your opinion
2 he acted reasonably in instructing his
3 receptionist to that effect?

4 MR. MISHKIND: ~~Objection to the~~
5 ~~form.~~

6 A Yes, it is.

7 MR. MISHKIND: Move to strike.

8 Q. And is it still your opinion that the
9 receptionist, Jan Schoch acted reasonably under
10 all the circumstances presented in this case?

11 ~~MR. MISHKIND: Objection. Leading~~
12 ~~question.~~

13 A Yes.

14 MR. MISHKIND: ~~Move to strike.~~

15 Q And is it still your opinion that Jan Schoch
16 acted in accordance with the instructions that
17 she received from Dr. Lalli?

18 MR. MISHKIND: ~~Objection.~~

19 ~~Leading.~~

20 A Yes.

21 MR. MISHKIND: ~~Move to strike.~~

22 MR. RESPONDENT: Nothing further.

23 - - -

24 RE-CROSS-EXAMINATION OF BRUCE D JANIAK, JR.

25 BY MR. MISHKIND:

1 Q. Doctor, would you agree that if Jan Schoch told
2 Mr. Porach to drive to the office in the face of
3 a description on the phone by the patient of
4 shortness of breath and chest pain, and failed
5 to advise the doctor that the patient was coming
6 into the office to be seen regardless of whether
7 he requested the EKG or she suggested to come in
8 for the EKG, and further waited not only to the
9 point in time after the patient had arrived at
10 the office which was about 4:56 or 5:00 but then
11 had that patient sit very quietly in the lobby
12 for 20 to 30 minutes without advising the doctor
13 that that patient had arrived, went ahead and
14 did an EKG, still not advising the doctor that
15 that patient who had called and had come into
16 the office that had had chest pain and shortness
17 of breath, and also failed to ask the patient
18 when he arrived how he was feeling and whether
19 or not he was still having shortness of breath
20 and chest pain, if you assume those facts to be
21 in evidence, would you agree that the standard
22 of care would not have been complied with by the
23 doctor's office?

24 A. I would agree.

25 Q. Okay.

1 MR. MISHKIND: Nothing further.

2 Thank you very much.

3 THE WITNESS: You're welcome.

4 MR. RISPO: I have one further,
5 doctor.

6 - - - -

7 FURTHER REDIRECT EXAMINATION OF BRUCE D. JANIAK,
8 M.D.

9 BY MR. RISPO:

10 Q. Given all of the facts and circumstances here
11 that you've described and have been described to
12 you, would it be reasonable for the patient to
13 fail to report all the symptoms he was having if
14 he had them?

15 A. Would that be reasonable to fail to report? It
16 would be unreasonable to not disclose that.

17 MR. RISPO: Okay. Thank you.

18 - - - -

19 FURTHER RECROSS-EXAMINATION OF BRUCE D. JANIAK,
20 M.D.

21 BY MR. MISHKIND:

22 Q. Doctor, I hate to do this to you.

23 A. That's okay.

24 Q. But lay people are not trained to diagnose their
25 own conditions, are they?

1 A. They are not.

2 Q. You agree that physicians or people that want to
3 be physicians that go to medical school and
4 become physicians are trained to arrive at
5 differential diagnoses?

6 A. Yes.

7 Q. And they are trained to recognize risk factors
8 for diseases?

9 A. Yes.

10 Q. And they are trained to recognize the urgency or
11 the nonurgency of various conditions?

12 A. Yes.

13 Q. And that patients frequently trust and rely on
14 their doctors to tell them what to do or what
15 not to do?

16 A. Yes.

17 Q. And it's good for a patient to call his doctor
18 and to trust his doctor to advise him as to what
19 medical care he or she needs to take?

20 A. In general, yes.

21 MR. MISHKIND: Okay. Thank you very
22 much. Nothing further.

23 MR. RISPO: So that we don't have a
24 problem here we want to be sure that we win
25 this contest I have to ask you one more

question, doctor.

- - - -

FURTHER REDIRECT EXAMINATION OF BRUCE D. JANIAK,
M.D.

BY MR. RISPO:

Q. Would John Porach have survived if he reported
to the emergency room at 7:00 in the morning?

~~MR. MISHKIND: Let me object
because that certainly is beyond the scope
of my recross but --~~

MR. RISPO: I'm not so sure.

MR. MISHKIND: It is, but --

A. Would he have survived if he reported to the
emergency department at 7:00 in the morning?

Q. Right.

A. Well, we have to make some assumptions. An
assumption is that he gives a history of chest
pain and creates enough suspicion if that's the
problem and that he's placed on the monitor as
we discussed earlier and that he fibrillates
while he is there the chances of recovery from
that fibrillation would be greater than 50
percent if he went to the emergency department,
sure.

Q. Okay. And if he didn't have those symptoms and

1 reported to the emergency room at 7:00 in the
2 morning is it possible that he would have been
3 discharged to his home?

4 MR. MISHKIND: Objection. Move to
5 strike. Form of question.

6 Go ahead, doctor.

7 A. It is possible, yes.

8 MR. MISHKIND: Objection. Move to
9 strike.

10 Q. Based upon the facts and circumstances as now
11 known to you and described in the trial
12 testimony, who is the person or persons
13 responsible, if anyone, for the fact that Mr.
14 Porach died?

15 MR. MISHKIND: Objection. Calls
16 for a legal opinion.

17 A. Boy. It's a difficult question to answer. I
18 think in my view it's primarily Mr. Porach who
19 had symptoms and didn't call the right place and
20 actually passed up some hospitals on the way to
21 the doctor's office, but you need to link that
22 up with what my comments were about survival and
23 I don't know how long and all that stuff, but if
24 there is one person he would be the one who
25 would carry most of the weight, sure.

1 MR. MISHKIND: ~~Objection.~~ -Move to
2 ~~strike.~~

3 MR. RISPO: Thank you, doctor.
4 Nothing further.

5 MR. MISHKIND: That's it.

6 VIDEO TECHNICIAN: Doctor, you have
7 a right to view this tape in its entirety
8 or you can waive that right.

9 THE WITNESS: Since there's going
10 to be a trial coming up very shortly I
11 wouldn't physically have time to look at
12 the tape.

13 MR. RISPO: Waive that.

14 THE WITNESS: So I waive it
15 because of that.

16 VIDEO TECHNICIAN: Thank you,
17 doctor.

18 Can we stipulate possession of the
19 videotape remains in the custody of Mehler
20 & Hagestrom?

21 MR. MISHKIND: Sure.

22 VIDEO TECHNICIAN: Off the
23 record.

24 - - - -

25 (Thereupon, a discussion was had off

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

the record.)

- - - -

(Signature waived.)

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Aneta I. Fine, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named BRUCE D. JANIAK, M.D. was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and the reading and signing of the deposition was expressly waived by the witness and by stipulation of counsel; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulation of counsel; and that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney, or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this 7th day of April A.D. 19 98.

Aneta I. Fine

Aneta I. Fine, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires February 28, 2001

W I T N E S S I N D E X

	<u>PAGE</u>
DIRECT EXAMINATION	
BRUCE D. JANIAC, M.D.	
BY MR. RISPO.....	3
CROSS-EXAMINATION	
BRUCE D. JANIAC, M.D.	
BY MR. MISHKIND.....	34
REDIRECT EXAMINATION	
BRUCE D. JANIAC, M.D.	
BY MR. RISPO.....	76
RECROSS-EXAMINATION	
BRUCE D. JANIAC, M.D.	
BY MR. MISHKIND.....	81
FURTHER REDIRECT EXAMINATION	
BRUCE D. JANIAC, M.D.	
BY MR. RISPO.....	83
FURTHER RECROSS-EXAMINATION	
BRUCE D. JANIAC, M.D. 83	
BY MR. MISHKIND.....	83
FURTHER REDIRECT EXAMINATION	
BRUCE D. JANIAC, M.D.	
BY MR. RISPO.....	85

O B J E C T I O N I N D E X

<u>OBJECTION BY</u>	<u>PAGE</u>
MR. MISHKIND:	8
MR. MISHKIND:	8
MR. MISHKIND:	12
MR. MISHKIND:	13
MR. MISHKIND:	14
MR. MISHKIND:	14
MR. MISHKIND:	14
MR. MISHKIND:	15
MR. MISHKIND:	16
MR. MISHKIND:	16

1	MR . MISHKIND:	16
	MR . MISHKIND:	16
2	MR . MISHKIND:	17
	MR . MISHKIND:	17
3	MR . MISHKIND:	18
	MR . MISHKIND:	18
4	MR . MISHKIND:	22
	MR . MISHKIND:	23
5	MR . MISHKIND:	24
	MR . MISHKIND:	24
6	MR . MISHKIND:	25
	MR . MISHKIND:	25
7	MR . MISHKIND:	26
	MR . MISHKIND:	27
8	MR . MISHKIND:	27
	MR . MISHKIND:	28
9	MR . MISHKIND:	30
	MR . MISHKIND:	33
10	MR . MISHKIND:	33
	MR . RISPO: ..	65
11	MR . MISHKIND:	77
	MR . MISHKIND:	78
12	MR . MISHKIND:	80
	MR . MISHKIND:	80
13	MR . MISHKIND:	81
	MR . MISHKIND:	81
14	MR . MISHKIND:	81
	MR . MISHKIND:	85
15	MR . MISHKIND:	85
	MR . MISHKIND:	86
16	MR . MISHKIND:	86
	MR . MISHKIND:	86
17	MR . MISHKIND:	86
	MR . MISHKIND:	87
18		
19		
20		
21		
22		
23		
24		
25		