

IN THE COMMON PLEAS COURT OF LUCAS COUNTY, OHIO

KATHY BERNAL, Administratrix
of the Estate of RENAE BERNAL,

Plaintiff,

VS.

DR. PATRICIA J. LINDHOLM, et al,

Defendants.

Case No. 88-3894

Transcript of
Jury Trial.

TRANSCRIPT OF PROCEEDINGS

BEFORE THE HONORABLE J. RONALD BOWMAN

APRIL 8 - 18, 1991

APPEARANCES:

James T. Murray
Attorney at Law
Appearing for the Plaintiff

H. William Bamman
Attorney at Law
Appearing for Defendant Dr. Lindholm

James E. Brazeau
Attorney at Law
Appearing for Defendant Community
Health Services

Peter R. Casey, III
Attorney at Law
Appearing for Defendants Dr. Pham and
Dr. Gloeller

Vicki L. Cohen, RFR, CM
Official Court Reporter

1 witness.

2 MR. BRAZEAU: Next witness to be called is
3 Dr. Bruce Janiak.

4 (Court Reporter marked Defendant Community
5 Health Services Exhibit A for identification.)

6 BRUCE JANIAK

7 called as a witness, being first duly sworn, was examined
8 and testified as follows:

9 DIRECT EXAMINATION BY MR. BRAZEAU:

10 Q. Could you state your name?

11 A. Bruce Janiak.

12 Q. What is your profession?

13 A. Emergency physician.

14 Q. And what is your present position?

15 A. Director of the Emergency Department at the Toledo
16 Hospital.

17 Q. All right. Handing you what has been marked as
18 Community Health Services A, can you identify that for the
19 record?

20 MR. MURRAY: May I see that?

21 MR. BRAZEAU: Excuse me, I'm sorry.

22 A. Yes. This is a copy of my curriculum vitae.

23 Q. (by Mr. Brazeau) Thank you, Doctor. If you don't

1 mind, can I hold on to it?

2 A. Certainly can.

3 Q. Thank you, Doctor. Perhaps to start this off, can
4 you briefly describe your education including your residency
5 and internship and so on and so forth for the jury?

6 A. Sure. I went to medical school at the University
7 of Cincinnati from 1965 through 1969, then did an internship
8 at the Cincinnati General Hospital, same campus.

9 Q. Try to keep your voice up.

10 A. Then a residency in emergency medicine also at the
11 University of Cincinnati at Cincinnati General Hospital, that
12 was finished in 1972, and then from '72 to '74 I was in the
13 Navy in Pensacola, Florida at the Naval Hospital down there,
14 and then in '74 I moved from Florida up to Toledo to become
15 Director of the Emergency Department at Toledo Hospital.

16 Q. Can you tell the jury what is emergency medicine?

17 A. It's the newest medical specialty and it deals
18 with the care and evaluation of patients who present and
19 present in an unscheduled manner to hospital emergency
20 departments to have us try to determine whether or not an
21 acute illness or injury is significant or serious and, if it
22 is, render appropriate initial treatment or sometimes full
23 treatment. It also deals with the -- part of it deals with

1 pre-hospital care, that is, ambulance services, training of
2 emergency medical technicians, developing training programs
3 protocols for ambulance services such as REMSNO in the Toledo
4 area.

5 Q. You mentioned it's the newest specialty. What do
6 you mean by that?

7 A. Well, there is an organization of all the
8 specialties, it's called the American Board of Medical
9 Specialties with its representatives of all the various
10 specialties and that group lets new people into, I guess we
11 call it the club if you want to, in 1979 emergency medicine
12 had petitioned that group of doctors to recognize us, that is
13 emergency medicine, as a specialty free standing of itself and
14 that wish was granted in 1979, so that's what I mean by the
15 newest.

16 Q. Were you among one of the first members of this
17 specialty that was recognized?

18 A. That's correct. It just so happened I was born at
19 the right time and entered into this, got interested in it and
20 trained in it and was practicing in it actually for several
21 years before the specialty was created, but I was fortunate
22 enough to get involved in the beginning.

23 Q. Now, are we talking about an organization that is

1 just located in Toledo, Ohio?

2 A. No. The headquarters for the -- there is two
3 groups in almost every specialty and one group deals with
4 social, economic issues dealing with the government and
5 dealing with patient education and physician education, that's
6 called -- usually called a college or society. The college in
7 emergency medicine is the American College of Emergency
8 Physicians, it's located in Dallas and represents
9 approximately 15,000 emergency physicians in the United
10 States.

11 Q. Are you a member of that organization?

12 A. Yes, I am a member and have served as president of
13 that organization.

14 Q. What year were you president of that organization?

15 A. You took my CV. I think it was 1985. I think it
16 was 1985 - '86.

17 Q. You holler if you need it back.

18 A. That's all right.

19 Q. All right. I should ask you this: Are you board
20 certified in emergency medicine?

21 A. Yes. The first certification examination was
22 given in 1980 and I took that examination then and passed it.

23 Q. Now, who develops the test that a physician that

1 aspires to be an emergency physician will take? Who develops
2 that test?

3 A. As I mentioned a moment ago, there is two
4 organizations for each specialty; one is that social, economic
5 one. The other one is strictly devoted to testing and those
6 are called the boards in emergency medicine; it's the American
7 Board of Emergency Medicine. That organization's only job
8 really is to develop a test, give a test, and certify
9 physicians as being -- having a certain level of competence in
10 any particular specialty; of course, this one we're talking
11 about is emergency medicine. They're headquartered in
12 Lansing, Michigan.

13 Q. So is this organization just limited to
14 southeastern Michigan and northwest Ohio?

15 A. No. It's a national organization.

16 Q. And are you a member of that national
17 organization?

18 A. I have passed the test and taken the test. I was
19 also nominated to that board by some of the other
20 organizations and are currently serving as president of the
21 American Board.

22 Q. Is this a national organization?

23 A. Yes, it is.

1 Q. This is the organization that develops and
2 administers the test that any physician that wishes to be an
3 emergency physician has to take in terms of being recognized
4 as board certified?

5 A. That is correct.

6 Q. Do you belong to other professional associations?

7 A. To the local medical association, Ohio State
8 Medical Association, and American Medical Association.

9 Q. Have you published articles in your area of
10 specialty?

11 A. Just a few; one that was slightly academic and
12 some others on administrative matters.

13 Q. Is Defendant Community Health Services Exhibit A,
14 does this fairly state your qualifications and the
15 publications that we briefly discussed?

16 A. Yes, it does.

17 Q. Doctor, do you devote three-quarters of your
18 professional time to the active clinical practice of medicine
19 or to its instruction at an accredited university?

20 A. I was going to answer yes, then you said an
21 accredited university. I do -- if I do teaching, it's at the
22 Toledo Hospital which is not a university but it's affiliated,
23 so I guess the answer is yes.

1 Q. As a matter of fact, are you a Professor of
2 Medicine at the local medical college?

3 A. I think it's an Associate Professor, Clinical
4 Associate Professor.

5 Q. So let me ask my question again just so we're
6 clear.

7 A. Sure.

8 Q. Do you devote three-quarters of your professional
9 time to the active clinical practice of medicine or to its
10 instruction?

11 A. Yes, I do.

12 Q. Thank you. Now, Doctor, you have been identified
13 as an expert witness in this cause. Do you know who first
14 contacted you?

15 A. Seems to me somebody asked me that question before
16 and I think because of the history of this case and went from
17 one firm to another, that it originally was Mr. Brazeau from
18 Robison, Curphey.

19 Q. Myself?

20 A. You first contacted me.

21 Q. I was going to ask you if you first --

22 A. Then something else happened and you could
23 enlighten me more than I could.

1 Q. Dr. Janiak, you may not recall but have we worked
2 together before?

3 A. Yes, we have.

4 Q. All right. And later on in the history of this
5 case, you were contacted by Mr. Casey?

6 A. Correct.

7 Q. And so you have reviewed the records then at my
8 request and later on you reviewed the records at Mr. Casey's
9 request?

10 A. That's correct.

11 Q. And I take it you expect to be compensated for
12 your services today?

13 A. I hope so.

14 Q. All right. And you have reviewed cases -- medical
15 malpractice cases before?

16 A. Yes, I have.

17 Q. And you have reviewed cases for patients as well
18 as for physicians?

19 A. That's absolutely right.

20 Q. And can you, for the benefit of the jury, tell if
21 you know what ratio there is between your review of cases?

22 A. I think it's probably 80/20, that is, 80 percent
23 of the time I would be an expert and be in favor of a

1 physician, and 20 percent of the time for Plaintiffs. It's
2 pretty close to that.

3 Q. And you have testified in medical malpractice
4 cases before?

5 A. Yes, I have.

6 Q. Can you tell us, if you are able to recall, what
7 records you reviewed in this matter?

8 A. Well, I certainly reviewed the original emergency
9 visit record from Fremont Memorial Hospital and Community
10 Health Services record and then several depositions that are
11 associated with this case, an autopsy report, and an EMS run
12 report.

13 Q. Just for the sake of the record, Doctor, we'll go
14 quickly through this. Handing you what has been marked as
15 Plaintiff's Exhibit 9 which has already been admitted --

16 MR. MURRAY: May I see it? Never mind.

17 Q. (by Mr. Brazeau) Plaintiff's Exhibit 9 which has
18 been previously identified as Community Health Services
19 record, have you reviewed that document before today?

20 A. Yes, I have.

21 Q. And handing you what has been marked as
22 Plaintiff's Exhibit 10, Mr. Murray, which has also been
23 previously identified as the hospital records from Memorial

1 Hospital, you have reviewed that before today?

2 A. Yes, I have.

3 Q. And handing you what has been marked as
4 Plaintiff's Exhibit 12C which is the autopsy and coroner's
5 report for Renae Bernal, have you seen that before today?

6 A. Yes, I have.

7 Q. Showing you what has been identified previously as
8 Plaintiff's Exhibit 11 which has been identified as the REMSNO
9 report and the EMS report, have you seen that report before
10 today?

11 A. Yes, that's right.

12 Q. In addition, what has been marked as Plaintiff's
13 Exhibit 1 and Plaintiff's Exhibit 2 as chest x-rays of Renae
14 Bernal taken on December 11th, 1987, we'll look at these a
15 little more closely later on, have you seen these x-rays
16 before today?

17 A. Yes, sir.

18 Q. In addition to these records, you have reviewed
19 various depositions taken in this matter as well?

20 A. Correct.

21 Q. All right. Now, Doctor, do emergency physicians
22 see patients such as Renae Bernal who comes in with not from
23 an automobile accident but comes in with some sort of disease

1 going on?

2 A. Yes, very often.

3 Q. Could you explain to the jury how that happens in
4 the emergency room, or why it happens?

5 A. Well, emergency departments are available -- I
6 mean, they're health care that everyone knows it's open
7 twenty-four hours a day and so patients with any unexpected
8 problem or issue that they're concerned about with their
9 health, whether it be physical illness or fever or an injury
10 or even severe emotional stress will frequently come into
11 emergency departments for evaluation, so it's very common to
12 see people like this.

13 Q. I want to just briefly hand you the records from
14 Memorial Hospital.

15 A. I think you handed them to me.

16 Q. You have them up there. Okay. Please feel free
17 to refer to it if you need to.

18 A. All right.

19 Q. Okay. Just briefly describe what was going on
20 that brought Renae Bernal to the emergency department at
21 Memorial Hospital on December 7th, 1987?

22 A. Certainly. The patient presented to the emergency
23 department because of some pain that was sort of in her

1 abdomen and in her left chest at the same time. Apparently
2 she had not gone to school that day because of pain and all
3 that is recorded. After the nursing evaluation and recording
4 of her vital signs which includes blood pressure and pulse and
5 respirations, the patient was evaluated by the emergency
6 physician. He asked questions and recorded the history, did a
7 physical examination, ordered two laboratory tests, made a
8 diagnosis of acute viral syndrome.

9 Q. Doctor, who was the emergency physician that
10 conducted that examination?

11 A. It's my understanding it was Dr. Pham, P-h-a-m.

12 Q. Based upon your review of that chart, do you have
13 an opinion to a reasonable medical certainty whether the care
14 provided to Renae Bernal on December 7, 1987 at Memorial
15 Hospital was within accepted standards of care?

16 A. Yes, I do.

17 Q. And what is that opinion?

18 A. That this evaluation was within the accepted
19 standard of medical care.

20 Q. Are you able to express an opinion to a reasonable
21 medical certainty what was the problem with Renae Bernal on
22 December 7, 1987 based on all the evidence?

23 A. Are you asking me a retrospective question or

1 prospective?

2 Q. Prospective question.

3 A. One could say that since patients frequently
4 present with this kind of problem, the higher probability is
5 that it's more than half of them, probably 85 - 90 percent of
6 them will have merely a viral syndrome, that those cause these
7 kind of discomforts.

8 Q. Now, retrospectively or prospectively, do you have
9 an opinion to a reasonable medical certainty whether or not
10 Renae Bernal was experiencing pneumonia on December 7th, 1987?

11 A. Based on what we know later, I would say that
12 there was a -- much more likely that some of these symptoms
13 were because of a starting pneumonia in this patient.

14 Q. All right. If it's within reach, I would like to
15 then bring you up to December 11th, 1987 when Renae Bernal
16 presented herself at Community Health Services. Can you reach
17 those records and, if you could, maybe I'll assist you finding
18 Dr. Lindholm's, can you just, for the sake of the jury and the
19 jury's heard some of it already, so briefness is desired, I
20 guess at this point can you describe for the jury what was
21 going on on December 11th, 1987 with Renae Bernal when she
22 came to Community Health Services?

23 A. Certainly. At this time, the patient is now being

1 followed up from the initial examination. Now she's
2 complaining of coughing and her predominant pain area is in
3 her shoulder and the left side of her chest and upper back.
4 Her vital signs are repeated. She does not have a fever but
5 the physical examination changed somewhat. The doctor, by the
6 way, did a history and physical examination, found out that
7 she was having this pain and examined her lungs and found that
8 the sounds of her breathing on the left side of her chest were
9 much decreased compared to the right and there was a change in
10 the character of the sounds. There are certain tests you do
11 to determine whether the sounds you hear with a stethoscope
12 are quote normal or not. Dr. Lindholm felt there was a change
13 there so her concern at that time just at that point, as she
14 wrote down, was probable left-sided pneumonitis, which is
15 another word for pneumonia, with possible pleural effusion and
16 she wrote the word "effusion" because of the -- I'm sure
17 because of the decreased sounds.

18 MR. MURRAY: Your Honor, I'm going to object
19 to what Dr. Lindholm -- him testifying as to what
20 Dr. Lindholm thought, what she meant by that. We
21 have Dr. Lindholm to tell us.

22 MR. BRAZEAU: Judge, I think a physician
23 that's certainly capable of explaining to the jury

1 what the medical community commonly understands
2 the words such as effusion to mean.

3 THE COURT: Objection will be overruled.

4 Q. (by Mr. Brazeau) Go ahead, Doctor.

5 A. At any rate, she prescribed an antibiotic for the
6 patient and sent her to the hospital for a chest x-ray, then
7 she gave her instructions to follow up again.

8 Q. Can we go back a moment to the history?

9 A. Correct.

10 Q. Your position at Toledo Hospital is what?

11 A. Director of the Emergency Department.

12 Q. And can you describe for the jury what you do as
13 Director of the Emergency Department?

14 A. Well, I see patients but also I'm responsible for
15 the overall operation of the department which includes almost
16 everything one would do in a modern emergency department. You
17 have to be sure that there are not only physicians available
18 to treat patients but that physicians are credentialed
19 appropriately, that they deliver the correct type of care.
20 That means you do chart analysis, quality assurance we call
21 it. You deal with nursing problems. You prepare budgets.
22 You relate to administration when you need to change staffing
23 or change equipment.

1 Q. And as Director of the Emergency Services are you
2 involved in the peer review of the work performed by other
3 emergency physicians in the department?

4 A. Every day.

5 Q. And can you tell the ladies and gentlemen of the
6 jury what peer review is?

7 A. Well, it's an analysis by someone who does the
8 same thing that you do. So peer review means doctors
9 reviewing doctors and the way we do that is, well, there are
10 many ways. One way is if a patient comes in and has a
11 complaint, the complaint comes to me and I review what one of
12 my doctors or perhaps even what I have done to make some
13 determination as to whether it seems reasonable in light of
14 the patient's complaint, but we also do it on a regular
15 routine basis by analyzing charts of colleagues. So each
16 month a physician will get ten or fifteen charts of another
17 physician and look at them for appropriateness and quality.

18 Q. And when you reviewed the chart from Community
19 Health Services at my request, was that similar to what you do
20 on a daily basis at Toledo Hospital as Director of Emergency
21 Services?

22 A. Yes, it was.

23 Q. I want to direct your attention specifically to

1 the history that was taken by Dr. Lindholm and provide to me
2 your opinion, if you have one, to a reasonable medical
3 certainty whether that history that was taken by Dr. Lindholm
4 was a history that you believe was taken within the accepted
5 standard of medical care. First do you have an opinion?

6 A. Yes, I do.

7 Q. What is your opinion?

8 A. That it certainly was within the standards of care
9 expected.

10 Q. Now, Dr. Lindholm noted in her history that there
11 was a complaint of pain, I believe you mentioned shoulder or
12 the chest. Is pain in the shoulder or chest an unusual
13 symptom for an individual who has pneumonia?

14 A. No. It's quite common.

15 Q. And why is that? Explain that to the jury.

16 A. Because the inside of the lung really doesn't have
17 any nerves. so you can have a pneumonia right in the middle
18 of your chest and you probably wouldn't have any pain, but
19 most pneumonias don't occur in the middle, they occur on the
20 edges of the lungs and the edges of the lungs are covered by a
21 glistening membrane, think of it as a very slippery hunk of
22 balloon that has fluid in it but that hunk of balloon is
23 loaded with nerves so any irritation of that area causes

1 severe pain whenever it moves and it moves when you breathe.
2 So when you take a breath and those irritated nerves are
3 stimulated more, you feel pain. The most common complaint
4 that we all have is called pleurisy, that's pain when you take
5 a deep breath.

6 Q. Doctor, I want to draw to your attention to Dr.
7 Lindholm's note that, "the patient had vomited a few times
8 yesterday but not today," today being December 11, 1987. Is
9 that significant to the physician in considering what we are
10 going to do for this patient who has pneumonia?

11 A. Certainly. When you -- the idea of vomiting
12 itself is very common with many illnesses. So just the
13 presence or absence of vomiting is not a major concern. It's
14 a concern but not a major one. But the fact when you want to
15 give a patient oral medicines, if they continue to vomit, you
16 take a pill and it comes back up, that's going to help so very
17 much, so the idea that the vomiting has stopped and the
18 patient can keep medicines down is an important one or else
19 you can't treat the patient at home.

20 Q. Is the presence of fevers -- waxing and waning of
21 fevers in an individual a common or uncommon finding in an
22 individual who has pneumonia?

23 A. It would be extremely rare to have a stable

1 temperature in any patient. Patient temperature is almost, by
2 definition, goes up and down as the day progresses. Actually
3 our normal body temperature does the same thing.

4 Q. Now, I want to -- Dr. Lindholm, after she took a
5 history, conducted an examination of the patient which is what
6 you were discussing, she describes Renae Bernal as an obese
7 fifteen-year-old who appears moderately ill and is not
8 coughing during the exam. What does that mean to you when you
9 are reviewing the chart?

10 A. All physicians use very general terms in
11 describing their overall impression of how sick somebody is,
12 so we might say a patient looks to be in no distress which
13 means they look like all of us do right now. A patient looks
14 slightly ill which is pretty much the way we look right now.
15 Moderately ill may be a patient who is uncomfortable, you are
16 not sure exactly what it is, whether it's their skin color is
17 a little bit off or they just complain that they're
18 uncomfortable. Severely ill would be someone who has got some
19 area that's really giving them a terrible problem. And then
20 the last term would be moribund which means this patient is
21 going to be dead in just a few minutes. So it's a very
22 general way of describing a patient. That will be my first
23 impression of what she said. Not coughing. Merely a way of

1 saying that this patient does not appear to have significant
2 respiratory distress and nothing is coming up when she coughs
3 and it's really also a very general statement.

4 Q. You were, when I interrupted you -- to go back and
5 talk about the history -- you were talking about the findings
6 of Dr. Lindholm's listening to the chest with the stethoscope
7 and I'm wondering can you elaborate on the findings of Dr.
8 Lindholm and what those findings mean?

9 A. Well, she pointed out that the exam was, quote,
10 very remarkable, unquote, so that certainly indicates that it
11 impressed her as being unusual or abnormal. Then she said
12 why. She said because the sounds on one side were decreased
13 and that the patient had what's called egophany which is
14 merely a way of saying that the sounds are heard differently,
15 it's a little bit complicated, you have to read three pages of
16 textbook to understand egophany, it's sound. When the patient
17 makes noises when he is listening to the stethoscope it
18 doesn't sound the way it normally does and it certainly didn't
19 sound the same on both sides. Rales. She says no rales or
20 rhonchi. Both of those noises, rales and rhonchi, are
21 associated also with pneumonia. And the last thing she said
22 was there was no tenderness in the chest wall because
23 sometimes all of these symptoms can come from something that

1 you didn't even think about like a fractured rib or an
2 irritation of a nerve on the wall of the chest.

3 Q. Do you have an opinion to a reasonable medical
4 certainty whether the examination that was conducted by Dr.
5 Lindholm was done within accepted standard of care based upon
6 the history that was presented to her?

7 A. Yes, I do.

8 Q. And what is that opinion?

9 A. That it was certainly within the accepted
10 standards of -- as a matter of fact, I thought it was an
11 excellent exam.

12 Q. Okay, Doctor, now I want to go on and just once
13 again, the jury's heard this before, but for the sake of
14 perspective just briefly discuss the treatment that was
15 prescribed by Dr. Lindholm.

16 A. First treatment was an antibiotic called Minocin.
17 It turns out that in young people, I'm not talking about
18 infants, I'm talking about somewhere in the teens to somewhere
19 in the forties, in young people there is a particular kind of
20 pneumonia which is more common and unless you can take the
21 bacteria out of the lung and instantly know what they are,
22 which is not a possible thing to do, we assume that most
23 patients in this age group have a pneumonia that is treatable

1 with a drug like Minocin which happens to be a tetracycline
2 drug. That was the first treatment she did. She gave her
3 something for pain and fever, that is, Tylenol, and she also
4 sent her to the hospital for a chest x-ray and culture of the
5 sputum because if you cough up some of this stuff that's
6 infecting you, sometimes you can make a diagnosis as to what
7 -- exactly what it is that is causing the infection.

8 Q. Doctor, do you have an opinion to a reasonable
9 medical certainty whether the treatment that was prescribed by
10 Dr. Lindholm, based upon her diagnosis, was within the
11 accepted standard of medical care?

12 A. Yes, I do.

13 Q. And what is your opinion?

14 A. That it was in the standard.

15 Q. All right. There has been testimony in this case
16 that Dr. Lindholm departed from accepted standard of medical
17 care in not admitting Renae Bernal to the hospital. Do you
18 have an opinion -- what is your opinion regarding whether or
19 not Dr. Lindholm needed to admit or should have admitted Dr.
20 Lindholm to the hospital?

21 A. My opinion based on --

22 Q. I better rephrase that. I think I misstated that.
23 I'll rephrase it.

1 MR. MURRAY: I would ask that he be allowed
2 to answer.

3 Q. (by Mr. Brazeau) Doctor, do you have an opinion
4 whether or not Dr. Lindholm should have admitted Renae Bernal
5 to the hospital?

6 A. Yes, I do.

7 Q. And what is your opinion?

8 A. My opinion is that she should have been treated as
9 an outpatient, that is, not admitted.

10 Q. Can you explain to the jury why you hold that
11 opinion?

12 A. Sure. First of all, the treatment for this kind
13 of pneumonia is with oral medications. And since you are
14 going to treat the patient with oral medicines, there is no
15 reason to put a person in the hospital, to have to be handed a
16 bill, you can be treated as an outpatient. The other way to
17 look at this is when do you admit someone to the hospital that
18 you think has pneumonia and the answer is if the patient is
19 very, very old and they have pneumonia, you would strongly
20 consider admitting them. If the patient is very, very young,
21 an infant with pneumonia, put them in the hospital. If the
22 patient has some other serious disease underlying like
23 diabetes, AIDS, some type of cancer, diseases that will I

1 guess reduce their ability to help -- for their own body to
2 help fight the infection, they get admitted. The last
3 category of patient that you admit to the hospital for
4 pneumonia is a patient that is in severe respiratory distress,
5 that is, it is clear from looking at them that their pneumonia
6 has infected such a great portion of their lungs that they're
7 going to need some assistance in breathing. Since this
8 patient didn't fit any of those categories, there was no
9 indication to admit her to the hospital.

10 Q. Doctor, feel free to take a look at those x-rays
11 and if I don't have them up there correctly, put them in
12 whatever fashion you need to. Let me first ask you a
13 foundation question. Do emergency physicians interpret chest
14 x-rays?

15 A. Yes, they do.

16 Q. Is it done frequently, infrequently, rarely, what
17 -- with what frequency?

18 A. Very, very frequently. Every day, all day.

19 Q. Do you believe that you are competent to interpret
20 a chest x-ray?

21 A. Yes, I do.

22 Q. Could you please take a look at these chest x-rays
23 which have already been admitted into evidence and are these

1 the chest x-rays that were taken on December 11th, 1987 of
2 Renae Bernal and tell the jury what you see?

3 A. Well, two things. First of all, there are two
4 x-rays, not one x-ray, and one is taken with an x-ray beam
5 going through the chest. Actually this one is going from the
6 back to the front and you put your chest against it like that
7 so that the heart is closest to the x-ray plate, x-ray film.
8 Then the other x-ray is taken so the x-ray beam goes sideways.
9 It helps to get two views just to get some idea, make it more
10 three-dimensional. As we look at it, it's a very striking
11 x-ray because it's abnormal. The white area over here
12 shouldn't be there. It should be much more identical to this
13 side which looks just fine. So this side, this is the way the
14 patient is facing, this is the right lung, looks real good.
15 The left lung, all this whiteness down here in a portion of
16 the lung called the left lower lobe, not very technical, on
17 the other view which is called the lateral view, it is
18 slightly hazy in the back but it is not very impressive. This
19 patient was heavy, as I understand it, so I would not look at
20 this film and be remarkably impressed by any positive findings
21 on this film but certainly this is an abnormal finding here,
22 and as I have said before, when I see a patient like this my
23 own personal approach, which is my own judgment, I say, well.

1 maybe there could be an effusion which is just loose fluid
2 inside the chest and there is another x-ray to take with the
3 patient lying flat which makes -- if you can imagine lying
4 flat on your side, the fluid will flow in this direction up
5 and down, you get a better idea of whether it is fluid. So, I
6 -- some physicians would look at this and have a real high
7 degree of confidence that that's fluid and I think that's
8 fine. I would look at it and I would say one more x-ray to
9 prove it to myself and I think that's fine too. So I would
10 say there might be some fluid and there might be some
11 pneumonia in the left lower lobe.

12 Q. Doctor, taking into consideration the chest x-rays
13 of Renae Bernal which were taken on December 11, 1987
14 immediately after Dr. Lindholm had seen the patient at
15 Community Health Services, do you have an opinion to a
16 reasonable medical certainty whether Renae Bernal should have
17 been admitted to Memorial Hospital?

18 A. Yes, I do.

19 Q. And what is your opinion?

20 A. She should not have been admitted based on this
21 finding also.

22 Q. Could you explain why you have that opinion?

23 A. It's the same reasoning that I went through before

1 about what patients with pneumonia -- this documents that the
2 patient has pneumonia and supports the physical findings of
3 Dr. Lindholm in the clinic. But with this amount of pneumonia
4 in this patient with those kind findings, patients are treated
5 at home with oral medicine.

6 Q. All right. Doctor, to summarize, do you have an
7 opinion to a reasonable medical certainty whether or not Dr.
8 Lindholm deviated in any respect from accepted standards of
9 medical care in her care and treatment of Renae Bernal?

10 A. Yes, I do.

11 Q. What is your opinion?

12 A. That she did not deviate.

13 Q. All right. Now, Doctor, we know retrospectively
14 that Renae Bernal died the following day on December 12, 1967.
15 First of all, do you have an opinion to a reasonable medical
16 certainty as to the cause of Renae Bernal's death?

17 A. No.

18 MR. MURRAY: Objection, your Honor.

19 Withdrawn.

20 Q. (by Mr. Brazeau) Do you have an opinion?

21 A. No. I do not have an opinion.

22 Q. All right. Now, the clinical course of the
23 patient as she was on December 11, 1967 when seen by Dr.

1 Lindholm and then her death the following day on December 12,
2 1987 would you consider that consistent with her clinical
3 course on December 11th in comparison to the fact that she
4 died on the following day, December 12th, 1987?

5 MR. MURRAY: Your Honor, that's so leading.

6 THE COURT: Rephrase the question.

7 Q. (by Mr. Brazeau) Doctor -- I will attempt to.
8 Doctor, my question to you is taking into account the
9 condition of the patient on December 11, 1987 when seen by Dr.
10 Lindholm and the fact that the patient died the following day
11 on December 12, 1987, do you believe that the clinical
12 condition of the patient on December 11 is consistent or
13 inconsistent with the fact that she died the following day?
14 First do you have such an opinion to a reasonable medical
15 certainty?

16 A. Yes, I do.

17 Q. What is that opinion?

18 A. That the clinical condition of the patient when
19 seen by Dr. Lindholm was not consistent with a death the
20 following day.

21 Q. Can you explain to the jury why?

22 A. Well, pneumonia is -- pneumonias just don't
23 progress that fast and this patient had a condition of being

1 uncomfortable with pneumonia. One would expect that if you
2 are going to die from pneumonia -- people, by the way, still
3 do, especially the elderly, you have a relatively slow,
4 downhill course. So on one day you are having pain and fever
5 and the next day you are having pain and fever and shortness
6 of breath, then your shortness of breath, despite treatment,
7 gets worse on the third day or maybe the fourth day and then
8 pretty soon you can't move without feeling severe shortness of
9 breath and that's an indication that the lungs are having very
10 poor function. Finally, the color starts to change, you
11 become blue with any exertion and your breathing becomes
12 extremely rapid and that will go on for another day. And,
13 finally, you will probably die from your pneumonia. But to
14 have a patient progress from being what's described as
15 moderately ill to death in twenty-four hours is not consistent
16 with death from pneumonia.

17 Q. Thank you, Doctor.

18 THE COURT: Mr. Murray?

19 MR. MURRAY: Your Honor, may I suggest in
20 view of the technical nature of the --

21 THE COURT: No, because the doctor has an
22 appointment. Then we're going to continue.

23 CROSS EXAMINATION BY MR. MURRAY:

1 Q. Good afternoon, Dr. Janiak. I'm Jim Murray. I
2 met you in your deposition a short while ago?

3 A. Yes, sir.

4 Q. Doctor, did you bring your file file with you?

5 A. No, I did not.

6 Q. Do you normally bring your file with you when,
7 Doctor, when you do your medical, legal consultations for an
8 attorney or another doctor?

9 A. No, I do not.

10 Q. In any event, would you agree with me that as part
11 of your evaluation in this case you reviewed the deposition of
12 Dr. Patricia Lindholm?

13 A. Yes. I will agree with you.

14 Q. And would you agree with me that you reviewed the
15 coroner's records including the pathology reports included in
16 the records?

17 A. Yes. I think I've already said that.

18 Q. Now, am I correct, Doctor, that today you are
19 speaking then for the Community Health Services clinic?

20 A. Yes. I think that is correct.

21 Q. You are not speaking for anybody else today?

22 A. No. I don't believe so.

23 Q. Okay. Is it not true, Doctor, that in the period

1 of Renae's death and today you have had occasions to be
2 approached for consultation by the attorneys for Dr. Pham?

3 A. Yes. I believe that's correct

4 MR. CASEY: I think there is only one
5 attorney for Dr. Pham and that was me.

6 THE COURT: I understand.

7 Q. (by Mr. Murray) Are you not also at least
8 considering, in some small way, the consultation with respect
9 to Dr. Gfoeller?

10 A. You are -- I am going to have to ask you is Dr.
11 Gfoeller the radiologist? I just don't remember.

12 Q. Yes. That's correct.

13 A. I don't think that I -- maybe I just don't
14 remember, but I don't remember talking with an attorney or
15 discussing testimony to support Dr. Gfoeller or not.

16 Q. May I direct your attention to the deposition we
17 took on March 18th, 1991, you do remember that?

18 A. Yes, I do.

19 Q. Do you remember --

20 A. I remember taking the deposition. I certainly
21 don't remember the whole content.

22 Q. I would like to ask you what went on there.

23 MR. CASEY: If we could have the page.

1 MR. MURRAY: Page 7.

2 Q. (by Mr. Murray) Did I not ask you the question,
3 my question was, I believe, "Doctor, you, of course,
4 understand you've been retained as a consultant on behalf of
5 both, as I understand it, both Dr. Gfoeller and Dr. Pham," and
6 do you recall Mr. Casey saying --

7 MR. CASEY: Wait a minute, your Honor. Wait
8 a minute. What do I have to do with this if I
9 make an objection or a statement during a
10 deposition?

11 MR. MURRAY: Because of what you said.

12 MR. CASEY: I'm not a witness. I'm a lawyer
13 here, your Honor.

14 THE COURT: I understand.

15 MR. CASEY: This is inappropriate.

16 THE COURT: What's the foundation? What are
17 you trying to determine?

18 MR. MURRAY: Your Honor, the record will
19 reflect that there was -- Mr. Casey made the
20 statement that he was --

21 MR. CASEY: Whoa, whoa. This is what I
22 object to, your Honor.

23 THE COURT: Objection will be sustained. Go

1 on. This doctor has been called in the case on
2 behalf of Defendant Community Services.

3 MR. MURRAY: Your Honor, can I approach the
4 bench?

5 (Discussion held at the bench off the
6 record.)

7 Q. (by Mr. Murray) Now, Doctor, in any event, the
8 original undertaking in this case by you was to represent Dr.
9 Pham, was it not?

10 A. Yes, sir.

11 Q. And, of course, Dr. Pham is no longer -- are you
12 aware of the fact that Dr. Pham is no longer a party to this
13 suit?

14 A. I understand that decision was made earlier but I
15 don't know when.

16 Q. But your decision to testify on behalf of
17 Community Health Service Center was -- that was unrelated to
18 Dr. Pham no longer being a party here?

19 A. When I got here, I understand that Dr. Pham was no
20 longer a party and I was asked, having reviewed the case, be
21 interested and be available to testify on behalf of Community
22 Health Services and I said fine.

23 Q. You just found that out today?

1 A. This morning.

2 Q. This morning you found that out, that you were
3 going to testify on behalf of Community Health Services?

4 A. No. I found out that Dr. Pham was not part of the
5 case. I thought that's what you asked.

6 Q. Let me try to rephrase it. Yesterday afternoon
7 who did you think you were coming down here to testify for?

8 A. I would say I was still coming down for Dr. Pham
9 yesterday afternoon.

10 Q. Okay. So between yesterday and today you made the
11 switch from testifying on behalf of Dr. Pham to testifying for
12 the Community Health Center?

13 MR. BRAZEAU: Your Honor, I'm going to
14 object. I'm at a complete loss to understand the
15 relevance of this.

16 THE COURT: It's cross examination but
17 that's about as far as we're going to go. I'm
18 going to allow the question and answer to stand,
19 the answer he has given, but that's as far as
20 we're going to go, Mr. Murray, as far as your
21 cross examination is concerned.

22 Q. (by Mr. Murray) Did you do any further review,
23 Dr. Janiak, between the time -- withdraw that. When did you

1 find out that you were going to be an expert for the Community
2 Health Clinic as opposed to Dr. Pham?

3 A. That was decided this morning.

4 Q. Just this morning?

5 A. Yes, sir.

6 Q. So we can take it that you did no further review
7 of anything or any records as part of making that decision?

8 A. That is correct.

9 Q. But, in any event, going back to when you were
10 acting as a consultant for Dr. Pham, as part of that
11 consultation you did read Dr. Lindholm's deposition?

12 A. Certainly. All of these things were so
13 interrelated you couldn't separate them.

14 Q. The answer to the question was yes?

15 A. The answer was certainly.

16 Q. Now, Doctor, you would agree now that you are
17 acting as consultant for the Community Health Clinic, you
18 would agree with me, would you not, that indirectly you are
19 now testifying as a consultant on behalf of Dr. Lindholm as
20 well?

21 MR. BAYMAN: Objection, your Honor. I don't
22 think that's a proper conclusion that can be
23 brought out before the jury.

1 THE COURT: Be sustained.

2 Q. (by Mr. Murray) Dr. Janiak, would you agree with
3 me that to the extent that Dr. Lindholm, by virtue of being an
4 employee --

5 MR. BRAZEAU: I'm going to object before he
6 finishes his question because I know where it's
7 going.

8 THE COURT: Come on up.

9 (The following proceedings were had at the
10 bench out of the hearing of the jury:)

11 THE COURT: The basis for the objection?

L 12 MR. BRAZEAU: The basis for the objection is
13 you are going to ask him whether or not Community
14 Health Services is responsible for Dr. Lindholm.

15 MR. MURRAY: I bring to the Court's
16 attention, before you rule, pages 80 and 81 of the
17 deposition which I can put into the record
18 entirely if you want. He's got his own
19 corporation, he's got his own employees, I asked
20 him at some length whether he understood the
21 principal-agency relationship and the
22 employer-employee relationship, he did.

23 MR. CASEY: The fact that the witness may

1 understand it does not make it competent, Mr.
2 Murray.

3 MR. MURRAY: His knowledge of the
4 relationship that he is testifying on behalf of
5 Community Health Center and he's got -- you got to
6 realize the significance of this, judge. He has
7 reviewed Pat Lindholm's records. The relevance of
8 this is that he was just testifying that he was
9 formerly a consultant for Dr. Pham, he's now a
10 consultant for Community Health Services which
11 Community Health Services, as Mr. Brazeau has
12 argued time and time again, is only liable
13 vicariously and all I want to establish is that
14 this is a witness who understands that vicarious
15 liability. I mean, not to get into that is
16 just -- he's got his own corporation.

17 THE COURT: He can't testify in those
18 things. I'm going to instruct on respondeat
19 superior.

20 MR. MURRAY: The fact that his understanding
21 of it, what is his understanding, that's -- that's
22 all I want to ask.

23 THE COURT: It's improper. Court's going to

1 sustain the objection.

2 MR. MURRAY: I will proffer pages 79, 80,
3 and 81 of the deposition of Dr. Janiak taken March
4 18, '91 to show what would have -- what would have
5 transpired if I had been allowed to inquire into
6 that subject.

7 (The proceedings returned to open court as
8 follows:)

9 THE COURT: Go on, Mr. Murray.

10 Q. (by Mr. Murray) Doctor, you understood before
11 undertaking this job to act as a consultant suddenly this
12 morning for Community Health Clinic you understood, did you
13 not, that the relationship between the Community Health
14 Service and Dr. Lindholm in this case was that of an employer
15 and an employee?

16 A. Yes.

17 Q. You understood that the Community Health Service
18 Clinic was the employer and she was the employee?

19 A. Yes, sir.

20 Q. And you understood that, of course, Doctor,
21 because you have a corporation?

22 MR. BRAZEAU: Objection.

23 THE COURT: Sustained. Mr. Murray, I told

1 you about that. Jury will disregard that last
2 statement.

3 Q. (by Mr. Murray) Was Dr. Pham at any time -- Dr.
4 Gfoeller -- excuse me -- was Dr. Pham at any time between the
5 death of Renae Bernal and as you sit here today, was he ever
6 an employee of any business in which you had an interest?

7 A. Between what times was that?

8 Q. Between the death of Renae Bernal and today, was
9 Dr. Pham an employee of any business enterprise of yours?

10 A. Yes.

11 Q. Okay. And what's the name of that business
12 enterprise?

13 A. Professional Emergency Services Incorporated.

14 Q. Okay. And you are -- still have an interest in
15 that corporation?

16 A. I do.

17 Q. And, in fact, Doctor, am I correct that you are
18 the sole shareholder?

19 A. You are correct.

20 Q. And how many employees does that corporation of
21 yours have?

22 A. It varies, but it's approximately thirteen
23 physicians full time and a like number part time.

1 Q. And these would be emergency room physicians?

2 A. Most of them.

3 Q. You are in the business of supplying emergency
4 room physicians to hospitals in Toledo and the general area?

5 A. No, that's not correct. I have a contract to
6 staff the emergency department at the Toledo Hospital as of
7 December 16th of last year, 1990. I also have a contract to
8 staff the emergency department at Fremont Memorial Hospital.

9 Q. At some point in time while you were acting as
10 consultant for Dr. Pham, Dr. Pham was a doctor who was
11 actually receiving wages from your corporation?

12 A. Yes. I believe that's right.

13 Q. Okay. Would you tell me a little bit about the
14 type of medical support facilities that your corporation gives
15 to these employees?

16 MR. CASEY: Objection, your Honor. I can
17 see a certain amount of relevancy in this but Dr.
18 Pham isn't even a defendant anymore.

19 MR. MURRAY: I sat here and listened for
20 probably twenty minutes while he talked about his
21 qualifications, judge. I think I'm entitled on
22 cross examination maybe to touch upon it slightly
23 myself.

1 MR. CASEY: Except for the fact that it
2 doesn't have anything to do with his
3 qualifications and I don't know the purpose or
4 what it's going after.

5 THE COURT: I'll allow this last question in
6 that particular area and vein, Mr. Murray, to
7 stand. Go on, Mr. Murray.

8 Q. (by Mr. Murray) Does this corporate facility
9 provide any hands-on benefit to those people out in the
10 emergency room, any type of support in carrying on their job?

11 MR. CASEY: Objection.

12 THE COURT: Be sustained as phrased.

13 Q. (by Mr. Murray) Am I correct that the purpose of
14 this corporation, Doctor, is not to lend any type of hands-on
15 support to these employees that are doing emergency room
16 services as employees of your corporation?

17 MR. CASEY: Your Honor, excuse me, but I
18 fail to see what Dr. Janiak's corporation and what
19 his employees do have anything at all to do either
20 with his qualifications or the facts of this case.

21 THE COURT: What is the relevancy to that?

22 MR. MURRAY: One, off the top of my head, I
23 imagine would be 601D.

1 MR. CASEY: Well, that's certainly an issue
2 that this Court has considered, has ruled on. He
3 raised it again this morning, the Court ruled
4 again this morning. Your Honor, I would suggest
5 that it's inappropriate at this point, ask the
6 Court to have Mr. Murray move into a new area.

7 THE COURT: I'll allow you to continue, Mr.
8 Murray.

9 Q. (by Mr. Murray) I take it that the operation of
10 this corporation involved at least some of your time, Dr.
11 Janiak?

12 A. Yes, it does.

13 Q. It does not involve any time, however, in terms of
14 giving any hands-on medical care or assistance to the employee
15 emergency room physicians that work for your corporation?

16 A. I guess I'm not sure what you are asking.

17 Q. I'm asking in the way do you give them -- is the
18 design of this corporation intended to give them assistance
19 with a specific medical problem that they might confront in an
20 emergency room on a given day?

21 MR. CASEY: Objection, your Honor. This is
22 nonsensical.

23 (The following proceedings were had at the

1 bench out of the hearing of the jury:)

2 THE COURT: Mr. Murray, are you talking
3 about active clinical practice as defined in 601D?

4 MR. MURRAY: I'm trying to quantify --

5 THE COURT: I know what you are trying to do
6 but the verbiage is active clinical practice,
7 that's the requirement of 601D and the appropriate
8 statute. Now is that what you are trying to
9 establish?

10 MR. MURRAY: That would be one, your Honor.

11 MR. BRAZEAU: If I could make one additional
12 remark. If that was something that Mr. Murray
13 wished to inquire, I think we're long since past
14 that. There was no objections to any of the
15 testimony of Dr. Janiak. I think it's kind of
16 late in the day to kind of raise this issue.

17 MR. MURRAY: The only way you can raise that
18 issue is by objection? I'm lost.

19 MR. BRAZEAU: That's what I am saying,
20 correct.

21 MR. MURRAY: During direct that's the only
22 remedy I have is to -- okay, during direct
23 examination.

1 THE COURT: Mr. Brazeau, I'm going to allow
2 you, in fact we can take a break if you so desire
3 in the cross examination, and allow you, if you so
4 desire, to go back on direct as to covering the
5 areas of 601D if that's the area we're going to.

6 MR. BRAZEAU: I don't think it's necessary,
7 your Honor.

8 THE COURT: I don't really -- why don't you
9 ask the specific questions?

10 MR. MURRAY: I would like to if I could do
11 so without perpetual objections, your Honor, on
12 cross examination.

13 (The proceedings returned to open court as
14 follows:)

15 THE COURT: Go on, Mr. Murray.

16 Q. (by Mr. Murray) Dr. Janiak, you would agree with
17 me, wouldn't you, that the purpose of this professional
18 corporation of yourself is not to give any actual assistance
19 to the caring emergency room physician on any type of a real-
20 time basis?

21 THE COURT: Before you say anything, that's
22 not the appropriate area of specialty as provided
23 for a qualification as set forth in 601D.

1 MR. MURRAY: I'm trying to quantify the
2 time, judge.

3 MR. CASEY: Why doesn't he just ask the
4 specific question and don't lead up to it. Jump
5 right out and ask it.

6 Q. (by Mr. Murray) Doctor, is any of your time
7 involved, Doctor --

8 THE COURT: That's not the question. Ask
9 him the specific question. You raised 601D and
10 just jump out and ask him.

11 MR. MURRAY: May I approach the bench?

12 THE COURT: No. In this area 601D. Mr.
13 Murray, I know where you are going, counsel knows
14 where you are going, and we could spend a half
15 hour to determine -- I'm going to make a ruling in
16 a moment. Go on, Mr. Murray. Just ask him the
17 specific question and we'll see what the response
18 is and use the verbiage as set forth and as you
19 stated you are pursuing in 601D which is -- the
20 Court now has placed right in front of it and will
21 ask a question, is that your desire, Mr. Casey,
22 Mr. Brazeau?

23 MR. CASEY: It would certainly seem to save

1 a lot of time.

2 MR. BRAZEAU: Anything to move this matter
3 along.

4 THE COURT: Court agrees.

5 Q. (by Mr. Murray) Doctor, you would agree with me,
6 would you not, that the term clinical practice involves more
7 than the direct hands-on care of a patient, would you not?

8 A. Yes, I would.

9 Q. So you do other things --

10 MR. CASEY: Your Honor, I'm going to object
11 because I see now where this is coming from. He's
12 not trying to go into this doctor's
13 qualifications, he's trying to do something on
14 that other person that we're not supposed to
15 mention that the Court has already ruled upon,
16 that's the purpose of this examination and I
17 object.

18 THE COURT: Is that what you are trying to
19 do, Mr. Murray, determine the qualifications of
20 this witness, Dr. Bruce A. Janiak, or some other
21 person?

22 MR. MURRAY: No, Dr. Bruce Janiak.

23 THE COURT: Then please just ask him the

1 question if that's where we're going. We can take
2 the next two hours on all these other side issues
3 we're looking at and you told me this was leading
4 up to Dr. Bruce A. Janiak's qualifications to give
5 expert testimony as provided for in rule 601D and,
6 if not, I'll make inquiry. I'm going to allow you
7 to do it but we're just going on and on about
8 peripheral matters and you have told me now that
9 we're looking for the qualifications of Dr. Bruce
10 A. Janiak under Ohio Rule of Evidence 601D and
11 please proceed in that area so we can go on to
12 other areas.

13 Q. (by Mr. Murray) Doctor, do you contend that at
14 least 75 percent of your time is involved in clinical
15 practice?

16 A. Yes, I do.

17 Q. And would you include in that 75 percent of your
18 time, would you include in that the supervisory work you do as
19 president of your corporation?

20 A. Only the supervisory work that deals with the
21 clinical aspects. There is some other not clinical aspects
22 that are not counted.

23 MR. MURRAY: Then, your Honor, I would like

1 to inquire into what those clinical aspects are.

2 MR. BRAZEAU: Your Honor, I think the Court
3 has pointed out that he got the 601D, he gave an
4 answer, and I don't see any reason to go beyond
5 that.

6 THE COURT: Court agrees. The -- to limit
7 -- the Court, based upon the examination made by
8 Mr. Brazeau and the answers given by the doctor,
9 the questions of Plaintiff, the Court finds and
10 rules that Dr. Bruce A. Janiak is qualified to
11 give expert testimony on the issue of liability in
12 this matter as provided for in statutes and by
13 Evidence Rule 601D. Now, that has been
14 accomplished. If you have an objection to that
15 ruling, it is noted of record and proceed to
16 another area, please, sir.

17 Q. (by Mr. Murray) Doctor, you expressed an opinion,
18 did you not, in your deposition that Dr. Lindholm's records
19 here reflect a very good example of recordkeeping by a
20 physician?

21 A. Yes, I did.

22 Q. And this is a blow-up of the front page
23 Plaintiff's Exhibit 9?

1 MR. CASEY: May I make just an observation
2 which the Court could consider an objection? Why
3 do we need to put this piece of paper up on this
4 board when the print isn't big enough for the jury
5 to see from their seats? It's not big enough for
6 the witness to see from his seat, so it's clearly
7 not some sort of assistance to anybody and,
8 therefore, I would object to spending the time and
9 wasting the time as we're doing right now.

10 THE COURT: I'm going to allow Mr. Murray,
11 for whatever reason Mr. Murray, and I'm not sure
12 what it is, I can't see it to read it. I know --
13 I don't think the doctor can.

14 MR. MURRAY: I won't use it, your Honor.

15 THE COURT: You can use it. I'm just saying
16 I don't know what it's for.

17 Q. (by Mr. Murray) Dr. Janiak, would you agree with
18 me, without going to your deposition, that you spent quite a
19 bit of time in that deposition telling me what you were going
20 to opine and what you were going to opine was that the
21 emergency room records were a very good example of good
22 recordkeeping?

23 A. Yes. I said that.

1 Q. Okay. Now I'm going to hand you --

2 MR. CASEY: Just for clarification, your
3 Honor. I thought we were talking about the
4 Community Health records, but Mr. Murray said the
5 emergency room records right now. I'm just not
6 sure which record it is.

7 Q. (by Mr. Murray) Dr. Janiak, let me refer you to
8 those emergency room records. Of course, Doctor, I assume
9 that since up until this morning you were acting as a
10 consultant for Dr. Pham, you would then be familiar with these
11 records, would you not, Memorial Hospital records?

12 A. Yes, I would but, your Honor, could I ask a
13 question? I'm not sure which record we're talking about.

14 Q. Right here.

15 A. These are the emergency records because a minute
16 ago when you asked me -- I thought you were talking about the
17 other records.

18 Q. In any event, would you agree with me, Doctor, now
19 that you have it in front of you, that that's a good example
20 of recordkeeping?

21 A. Yes, it is.

22 Q. Okay. And the type of records that you would want
23 to see with a patient presenting with the type of symptoms

1 that Renae Bernal presented with?

2 A. Correct.

3 Q. Okay. And, Doctor, of course the jury will have
4 this but does it not have, right in the printed form, some
5 very basic things up on the top there?

6 A. Correct. There is a section that's entitled vital
7 signs and that's what you are pointing to.

8 Q. Correct. And it has the time that this is done?

9 A. Correct.

10 Q. And it has, by time, I mean it is exact time,
11 right 3:35?

12 A. Correct.

13 Q. And it has the temperature?

14 A. Correct.

15 Q. And it has the pulse?

16 A. Correct.

17 Q. And it has the respiration?

18 A. Correct.

19 Q. And what does respiration mean?

20 A. Respiration is a number and it refers to the
21 number of breaths per minute.

22 Q. Okay. Doctor, while there is not -- and then it
23 shows blood pressure; is that correct?

1 A. You are right.

2 Q. Okay. Again that's right in the printed form so
3 nobody could leave those basic things out?

4 A. That's not true. You could leave basic things out
5 all the time but they're not left out in this record.

6 Q. They're not left out in this record, are they?

7 A. That's right.

8 Q. Why don't you refer, if you will -- by the way,
9 Doctor, I take it from the testimony that you gave that the
10 respiratory rate is a pretty important part of the clinical
11 assessment with respect to attempting to judge how far a
12 pneumonia has progressed?

13 A. I don't believe I said that. I think respiratory
14 rate is an important part of the assessment and the important
15 vital sign in patients that present with symptoms that refer
16 to their chest, but I don't believe I said it deals with
17 progression of the pneumonia.

18 Q. Now, we know there is not a one-to-one
19 relationship, Doctor, between respiration rate and respiratory
20 distress; isn't that correct?

21 A. That is right.

22 Q. But we also know that there is some correlation
23 between respiratory rate and respiratory distress?

1 A. Correct.

2 Q. And we also know, don't we, that one of the ways
3 you can measure respiratory rate is by -- again, by some
4 correlation is by taking the pulse -- I'm sorry, excuse me --
5 withdraw that -- is by noting the breathing per minute?

6 A. Correct.

7 Q. Now, Doctor, we know that that was done on 12/7
8 1987; is that correct, the heart rate?

9 A. Yes.

10 Q. Is that heart rate elevated? Is that respiratory
11 rate an elevated rate?

12 A. The respiration at 24?

13 Q. Yes.

14 A. No.

15 Q. That's not elevated at all?

16 A. No.

17 Q. At least it's noted there?

18 A. Yes, it is.

19 Q. Now, would you take your Community Health Service
20 records for me, Doctor, if you still have them there,
21 Plaintiff's Exhibit 9, and share with us any place on there
22 that you find a similar -- a similar recordation on December
23 11th, 1987.

1 A. You mean the type of form where it has a place for
2 all the vital signs, is that what you are referring to?

3 Q. Just find me any respiratory rate, any notation.

4 A. There is no respiratory rate.

5 Q. Thanks, Doctor. Doctor, you indicated, Doctor,
6 did you not, in response to your direct testimony that in
7 making these clinical assessments, fever -- the presence or
8 absence of fever is pretty important?

9 A. No. I believe what I said was you record the
10 temperature but temperature waxes and wanes, goes up and down
11 in the course of illness.

12 Q. Okay. That being the case, could you direct your
13 attention to Plaintiff's Exhibit 9 and could you tell me what
14 the temperature was on the day -- at least what is recorded
15 there on the day Patricia Lindholm recorded it?

16 A. Well, it says, "temperature 98," and then it says,
17 "temperature 98, B. Cullen," C-u-l-l-e-n, "LPN".

18 Q. Does that suggest the nurse took it then?

19 A. Yes. You asked me about Lindholm and the nurse
20 recorded it.

21 Q. You think the nurse took that as you read the
22 report?

23 A. That would be my impression.

1 Q. Okay. The temperature reflected therein is 98,
2 correct?

3 A. Correct.

4 Q. And, of course, that's normal? That's a normal
5 temperature?

6 A. It's what we laymen refer to as normal
7 temperature.

8 Q. But we do know, when you have one of these
9 progressing pneumonias, you indicated it can go up and down,
10 right?

11 A. Yes, it can.

12 Q. And it can go all the -- to normal and go right
13 back up again?

14 A. It may indeed.

15 Q. You would agree with me then that it would be very
16 difficult to rule out the fact that she was experiencing fever
17 simply because it said 98 on that particular moment?

18 A. Could you rephrase that? I don't understand that
19 question at all.

20 Q. Therefore, would you agree with me the fact that
21 she had 98 at the time the nurse took her temperature at the
22 clinic cannot be used to rule out the fact that she was having
23 fever before she came to the clinic and would have fever after

1 she left the clinic?

2 A. Correct. Absolutely right.

3 Q. Thank you. And did not, in addition to that
4 simple known fact, Dr. Janiak, is it not a matter of history
5 that was brought to the attention of Dr. Lindholm that she had
6 been having fever at home?

7 A. Excuse me while I try to --

8 Q. Take your time, Doctor.

9 A. Dr. Lindholm reports that the patient's mother
10 felt that she, that is the patient, was having some fevers at
11 home. That is recorded.

12 Q. Okay. Good. Doctor, you would agree with me,
13 would you not, that Motrin is known to mask fever?

14 A. I would agree that there has been some reports
15 that if you take Motrin you may decrease the temperature some
16 but it is not a drug -- drug that's used to treat fever.

17 Q. I understand that. I understand that but, Doctor,
18 you would agree with me that if I have got a fever and I'm
19 taking Motrin for whatever reason, it can operate to mask
20 fever?

21 A. May operate to reduce the temperature so that it's
22 recorded as normal is that what you are getting at?

23 Q. Correct.

1 A. Yes, that would be true.

2 Q. And, Doctor, pick up the records one more time,
3 will you, and tell the ladies and gentlemen of the jury
4 whether or not a history was given at the Community Health
5 Center on December 11th, 1987 as to whether or not Renae
6 Bernal had been using Motrin for a four-day period prior to
7 presenting herself for a clinical assessment.

8 A. It says right in the record that she was
9 discharged on Motrin.

10 Q. Thank you, Doctor. Mr. Brazeau asked you, Doctor,
11 whether you were going to charge and I think you quite
12 properly indicated that you expected to be paid for your
13 services and nobody takes issue with that, but would you share
14 with us how much your charges are?

15 A. Yes. They are \$200.00 per hour.

16 Q. And, Doctor, would I be missing the boat by a
17 great length if I estimated that you probably done somewhere
18 in the neighborhood of a hundred of these medical legal
19 consultations in the course of your career?

20 A. I would say that since 1972 I've looked at between
21 75 and 100 cases in the last twenty years, nineteen years.

22 Q. All right. Doctor, in any event -- withdraw that.
23 Doctor, would you agree with me that with respect to the

1 records you reviewed would you agree, Doctor, that putting
2 aside the simple fact that Renae died, that there is nothing
3 in the records that suggests that this was some type of
4 unusual or special agent that was particularly virulent?

5 MR. CASEY: If the Doctor understands the
6 question, I certainly don't, and I would object on
7 that basis, but if he understands it, he can --

8 Q. (by Mr. Murray) Do you understand the question,
9 Doctor?

10 A. I think so but I believe that it was not an
11 unusual agent but a special agent. I think there is things in
12 the record that reflect that, but there is nothing in the
13 record that reflects as to its virulence and virulence refers
14 to its dangerousness, infectivity, strength, power to make you
15 sicker.

16 Q. With respect to virulence, there is nothing in the
17 record to suggest that this agent was especially virulent?

18 A. That is correct.

19 Q. Thank you, Doctor. Did you review the coroner's
20 records?

21 A. Yes, I did.

22 Q. Would you agree with me that the coroner's records
23 reflect that the cause of death was bilateral pneumonia?

1 A. Yes. That -- yes, that is directly on the record
2 from the coroner.

3 Q. Thank you. Now, Doctor, you did review Patricia
4 Lindholm's deposition. Do you recall the fact that she blamed
5 the radiologist?

6 A. Yes, I do.

7 Q. Do you recall the fact that she said that she
8 would have hospitalized this patient but for the gross
9 understatement of the amount of pleural fluid that was
10 verbally relayed to her by the radiologist?

11 MR. BAMMAN: Your Honor, I'm going to object
12 to this type of questioning with the repeating of
13 the discovery deposition testimony. If Mr. Murray
14 would like to confront the witness with testimony
15 given in this courtroom, I think it's different
16 unless he can show that for some peculiar reason
17 that testimony formed a basis for his opinion.

18 THE COURT: Mr. Murray, can you do so and
19 will you do so as pointed out by defense counsel?
20 If not, I'm going to sustain the objection.

21 Q. (by Mr. Murray) Dr. Janiak, you were speaking, of
22 course, of what Patricia Lindholm did, her assessment?

23 A. With reference to what? I'm sorry.

1 Q. With reference to her care on December 11th, her
2 clinical assessment on December 11th, 1987?

3 A. Yes, I was.

4 Q. You spoke at some great length reading from the
5 record what she meant, did you not? You picked up the record
6 and you went through it, if I recall, and you could read from
7 that and tell us what she meant?

8 A. I think I just quoted what she did.

9 Q. Okay. I thought I heard some interpretations of
10 what she -- you are telling the jury that you did not give any
11 testimony then to the effect that you were extracting things
12 from that record what Dr. Lindholm was doing?

13 A. No. You asked -- as a matter of fact, when I
14 mentioned I think the words I thought Dr. Lindholm meant
15 something, you made an objection or a comment about that but
16 when you just asked me about it now, you said, quote, at great
17 length and I didn't do anything at great length.

18 Q. Drop the great length. Did you on one occasion?

19 A. Yes, I did.

20 Q. And now, Doctor, if you wanted to find out what
21 Dr. Lindholm meant, I assume that you would have read her
22 deposition, too, then as part of preparing for your testimony
23 here today?

1 A. I believe I already testified that I did read her
2 deposition.

3 Q. Did anything in that deposition help you -- help
4 support your opinions?

5 A. Which opinion was that, sir?

6 Q. The opinion you gave upon direct examination.

7 A. I think I've said several things now in the past
8 hour or now.

9 Q. That this was good, standardized care?

10 A. Yes. Does anything in the deposition support
11 that?

12 Q. Yes.

13 A. No. It was the emergency -- the Community Health
14 Services record that I was referring to when I said this
15 represents good care.

16 Q. That was -- that was the emergency room?

17 A. No. It was Community Health Services record.

18 Q. All right. You would agree with me that you
19 reviewed Pat Lindholm's deposition and utilized that
20 deposition in arriving at the judgments that you made in
21 response to Mr. Brazeau's question that there was no
22 substandard care here?

23 A. Yes, yes. All those materials put together and

1 integrated, you are correct.

2 Q. Now, may we go back to what we were talking to
3 before?

4 MR. BAMMAN: I object. The witness
5 testified he only commented on those records. He
6 read the depositions but if he has something
7 specific --

8 THE COURT: I heard the same thing, Mr.
9 Bamman. The doctor has testified that he based
10 his opinion upon the emergency room records.

11 MR. BAMMAN: Community --

12 THE COURT: Community Health Service record,
13 that's what he stated he based his opinion on. He
14 said he read the deposition. He based his
15 opinion, if I heard correctly, and I believe,
16 doctor, is that what you testified to?

17 THE WITNESS: Yes, I did.

18 MR. MURRAY: All right. Fine.

19 THE COURT: We all heard it except you, Mr.
20 Murray.

21 MR. MURRAY: I'm sorry, judge. Please
22 excuse me.

23 Q. (by Mr. Murray) Are you telling the jury then,

1 I'll get on to another subject, are you telling the jury that
2 the opinion you rendered here you have rendered by taking the
3 Community Health Service records but you ignored -- but that
4 the Patricia Lindholm deposition, the clinical assessor
5 herself, you did not use that in arriving at your judgment, is
6 that what you are telling us?

7 A. It's a hard question to answer. Maybe I can
8 answer it this way: My opinion is based on the record. When
9 you look at a record and then you look at a deposition, if you
10 see something in the deposition that's 180 degrees away from
11 the record, then you are going to say, "My goodness. I need
12 to go over this again and think about it." When you look at a
13 deposition in which there is nothing that's inconsistent with
14 the record then, in my view, what I am telling you is my
15 opinion is based on looking at the record and I didn't see
16 anything in the deposition that made me change my mind, better
17 way to put it.

18 Q. Are you telling me that there is nothing
19 inconsistent then between Dr. Lindholm's deposition that you
20 read and the record?

21 A. In terms of my opinion, that's correct.

22 Q. Did you, as part of your foundation, Doctor, did
23 you review not only the x-rays but the x-ray report?

1 A. I believe I did see the x-ray report, that's
2 correct.

3 Q. Did you -- so then I am correct the fact -- what
4 you are saying is that you, in rendering your judgment then,
5 you have specifically excluded as a basis for your judgment
6 Dr. Lindholm's blaming the radiologist?

7 MR. BRAZEAU: Objection, your Honor.

8 MR. BAMMAN: He didn't say that, your Honor.

9 THE COURT: It will be sustained. Jury will
10 disregard the question. Court orders it stricken
11 from the record.

12 Q. (by Mr. Murray) Did you take into consideration
13 the deposition -- any parts at all of the deposition of Dr.
14 Lindholm in arriving at your opinion?

15 MR. BRAZEAU: Objection, your Honor. I
16 think the question has been asked and answered at
17 least three times.

18 THE COURT: It has.

19 MR. MURRAY: Not the entire thing.

20 Q. (by Mr. Murray) Did you take it into account at
21 all, any part of it at all?

22 A. Insofar as in the way I already explained to you,
23 yes.

1 Q. Would you tell me again, because I don't think I
2 understood it.

3 A. I said when I look at a record and there are
4 depositions in association with the record, I look for major
5 differences between what the record says and what the
6 deposition states.

7 Q. Good. May you share with me what those major
8 differences were?

9 MR. BAMMAN: He didn't say there were major
10 differences. He looked for them.

11 THE COURT: That's correct, Mr. Murray. I
12 don't know how clear the doctor can be in response
13 to your questions. As counsel has stated, they've
14 been repeated over and over and over again as
15 regards to the relationship between the Community
16 Health Services record and the deposition.

17 Q. (by Mr. Murray) Dr. Janiak, in sharing with the
18 jury your opinion as to whether or not the person making the
19 clinical assessment lived up to the standard of care, would
20 you agree with me that the expressions and feelings and
21 opinions of the clinical assessors would have some relevance?

22 A. Certainly.

23 Q. Great. Now, I would like to go over some of those

1 that you are aware of. Were you aware, Doctor, that in
2 addition to blaming the radiologist she, Dr. Lindholm,
3 clinical assessor who you just made an evaluation of,
4 indicated that she would have hospitalized this patient had
5 she known -- had the x-ray records been accurately relayed to
6 her?

7 A. Yes, I was.

8 MR. BAMMAN: Objection.

9 THE COURT: Question has already been
10 answered. It will stand.

11 Q. (by Mr. Murray) You were aware of that?

12 A. Yes, sir, I was.

13 Q. Were you aware that that actual clinical assessor
14 agreed that the under-evaluation given by the radiologist was
15 gross in terms of its under-evaluation?

16 MR. BAMMAN: Objection.

17 THE COURT: Be sustained. This one is
18 sustained.

19 Q. (by Mr. Murray) Were you aware that the clinical
20 assessor felt that had Renae Bernal been hospitalized and
21 received the in-hospital type therapies available in Fremont,
22 that she probably would have survived her illness?

23 MR. BAMMAN: Objection.

1 THE COURT: Basis, Mr. Bamman?

2 MR. BAMMAN: Because there is no foundation
3 that that fact would have anything to do with the
4 opinion he rendered upon the record from Community
5 Health Service. That is not testimony in this
6 case.

7 THE COURT: Mr. Murray?

8 MR. MURRAY: Your Honor, are you suggesting
9 I can't impeach --

10 THE COURT: I'm asking you to reply to the
11 objection and the basis.

12 MR. MURRAY: Just one that's too obvious to
13 talk about is to talk -- to cross examine a hired
14 consultant on the basis of what he excluded as
15 part of his foundation, if that's not permissible.
16 I don't understand evidence at all.

17 THE COURT: Court's going to allow you to
18 proceed but I'm telling you to be -- you are in an
19 area that is -- would you read the question back,
20 Madam Recorder?

21 (Court Reporter read back as requested.)

22 A. I was aware that Dr. Lindholm said that in her
23 deposition.

1 Q. (by Mr. Murray) Thank you, Doctor. Now, Doctor,
2 you mentioned decubitus x-ray and you mentioned that you would
3 have wanted a decubitus x-ray; is that your testimony on
4 direct?

5 A. Correct.

6 Q. And do you also recall from Dr. Lindholm's
7 deposition that Dr. Lindholm indicated that she wanted the
8 x-rays not to just confirm the pneumonia but to make some
9 assessment as with respect to the extent of the pneumonia; do
10 you remember that?

11 MR. BAMMAN: Objection.

L ; 12 THE COURT: Be sustained.

13 Q. (by Mr. Murray) Would it be of any interest to
14 you to know what significance, Dr. Janiak, the clinical
15 assessor herself had to say about why she wanted the x-rays
16 and the report of those x-rays, would that be of any interest
17 to you?

18 A. I don't think it would be helpful to know why she
19 wanted them, only because I guess I am making an assumption
20 that she wanted them to see whether or not the patient had
21 pneumonia, so I'm not sure that information would be helpful
22 to me. What was the second part of your question?

23 Q. Second part is, is it of any interest to you,

1 Doctor, if the evidence in this case were from the clinical
2 assessor herself, Dr. Lindholm, that that was not the only
3 reason she wanted an x-ray because she had already diagnosed
4 the case as pneumonia, that she wanted the x-ray to determine
5 to what extent the disease had progressed. Now, would that be
6 of any interest to you as the hired consultant talking about
7 standard of care, you want to tell this jury -- fine, we'll
8 get on another subject -- you want to tell this jury that's of
9 no import or no interest to you in terms of --

10 MR. BAMMAN: Objection. Certainly that's
11 another question and I will object to that
12 question put to this witness.

13 THE COURT: I'm going to allow it.

14 THE WITNESS: I may be a little bit densed.
15 I'm confused about when you asked what I wanted to
16 tell the jury.

17 MR. MURRAY: I'll rephrase it.

18 Q. (by Mr. Murray) Any time, if you don't understand
19 it, you tell me that and I'll redo it. I'm asking you do you
20 want to tell us here today that in terms of making an
21 evaluation as to whether there was standard or substandard
22 care on the clinical assessment, whether or not you do or do
23 not have any interest respecting what the clinical assessor

1 herself, Dr. Lindholm, wanted the x-rays for?

2 A. Yes. I will say I have some interest in wanting
3 to know if I could have that information.

4 Q. Thank you. Now, I want you to assume for the sake
5 of the next question that the -- withdraw that. Do you recall
6 from Dr. Lindholm's deposition the fact that she opined or she
7 testified that she wanted the x-ray not just to confirm the
8 pneumonia that had already been diagnosed, but to assess the
9 degree to which the disease of pneumonia had progressed?

10 A. I don't have that specific recollection but I
11 certainly accept it.

12 Q. Will you accept the fact that she has so testified
13 before you came in here today?

14 A. Sure.

15 Q. Now, do you also agree with me that you will
16 recall, will you not, from the -- withdraw that. You actually
17 service Memorial Hospital right now with your employees, don't
18 you?

19 A. Right now, yes.

20 Q. So we know darn right well, don't we, I mean, you
21 know for sure that they can do decubitus x-rays down there in
22 Fremont; isn't that correct?

23 A. That is correct.

1 Q. I mean, that's not -- you can do that almost
2 anywhere with an x-ray?

3 A. I don't know any place you can't do that.

4 Q. Okay. Good. Would you agree with me, Doctor,
5 that the decubitus x-ray would have been useful to determine
6 the quantity of the pleural effusion?

7 A. No. I'm not aware that that -- it would not be
8 useful to quantify except in a very general way.

9 THE COURT: Mr. Murray, how long do you
10 expect to be?


11 Q. (by Mr. Murray) How about the amount of pleural
12 effusion, Doctor?

13 A. Certainly, a radiologist gives amounts. When
14 they see effusion, they will usually mention something about
15 the amount, it's within a range and it's within their best
16 estimate as to how much fluid might be there.

17 Q. Doctor, I didn't -- that's not the question.
18 Would the decubitus x-ray be helpful, the lateral decubitus
19 x-ray be helpful in quantifying the amount of pleural
20 effusion, yes or no?

21 A. Yes.

22 Q. Thank you. In any event, Doctor, we know the
23 decubitus x-ray was not done in the radiology department; is



1 herself wanted the pleural effusion quantified as part of
2 having the x-ray?

3 MR. CASEY: Objection. We don't know that.

4 We know there have been certain questions put
5 but --

6 MR. MURRAY: I'll withdraw that, your Honor.

7 It's too obvious to the Court.

8 Q. (by Mr. Murray) Now, Doctor, you indicated that
9 pneumonia progresses over a period of time; is that correct?

10 A. That's correct.

11 Q. And, in this case, then if I understood your
12 testimony, that in all probability she had pneumonia starting
13 with Monday December 7th, 1987?

14 A. I think it was more likely than not that her
15 initial pains were from an early pneumonia, that's what I
16 said, I believe.

17 Q. On the Friday, the day before, she said, and
18 Saturday, she said she was in the fifth and sixth days of the
19 progression of the disease; is that correct?

20 A. Looking at it that way, I think that would be
21 right.

22 Q. Doctor, you don't want to tell this jury, do you,
23 that pneumonia or any other disease fits into some nice little

1 box in terms of its progression?

2 A. Every case is completely different.

3 Q. That's why we have doctors that make an assessment
4 based upon all the information, isn't it?

5 A. Yes, I believe that's true. Sometimes I wonder
6 why we have doctors.

7 Q. Doctor, you would agree with me, upon review of
8 those records, would you, that there was no patient negligence
9 here?

10 A. Yes, I would.

11 Q. Neither on the part of the deceased, Renae Bernal,
12 nor her parents?

13 A. I didn't see any evidence of that.

14 MR. MURRAY: No further questions.

15 THE COURT: All right. Mr. Brazeau and Mr.
16 Casey and Mr. Bamman, will you approach?

17 (Discussion at the bench off the record.)

18 THE COURT: We're going to stand in recess
19 for fifteen minutes, folks, and we'll return.

20 (Recess.)

21 THE COURT: Mr. Casey, sir?

22 MR. CASEY: Thank you, your Honor.

23 CROSS EXAMINATION BY MR. CASEY:

1 Q. Dr. Janiak, to try and clear up any confusion over
2 this, you were first contacted concerning involvement in this
3 case by Mr. Brazeau; is that correct?

4 MR. MURRAY: Your Honor, objection. That's
5 leading.

6 MR. CASEY: Well, actually, your Honor, it's
7 kind of foundation stuff that's already been
8 testified to but this is cross examination as I
9 understand it.

10 THE COURT: I want you to lay a groundwork
11 first for that last statement, then the Court will
12 rule, Mr. Casey.

13 MR. CASEY: What statement?

14 THE COURT: That you just made about the
15 cross.

16 Q. (by Mr. Casey) Dr. Janiak, you were originally --
17 who originally retained you in this case? Who originally
18 asked you to look at the record?

19 A. As I said, it was Mr. Brazeau.

20 Q. And that was on behalf of whom, what Defendant?

21 A. I would have to go back to the file but I think it
22 was --

23 Q. Community Health?

1 A. I think it was Community Health and not Dr. Pham.

2 Q. And then subsequently, did I --

3 MR. MURRAY: Objection, your Honor, again,
4 as leading.

5 MR. CASEY: How is it leading?

6 Q. (by Mr. Casey) I -- subsequently did I contact
7 you?

8 THE COURT: Be overruled.

9 Q. (by Mr. Casey) Did I contact you?

10 A. Yes.

11 Q. For what purpose?

12 A. That was to look at the records on behalf of Dr.
13 Pham.

14 Q. All right. And did you look at the records on
15 behalf of Dr. Pham?

16 A. Yes, I did.

17 Q. And did you look at the report on behalf of
18 Community Health Service?

19 A. Yes, I did.

20 Q. Your review of the records on behalf of Community
21 Health Service did that occur at some time prior to today?

22 A. Yes.

23 Q. To your knowledge, is Dr. Pham a Defendant in this

1 case at this point?

2 A. To my knowledge, he is not.

3 Q. Did I ask you to review this case on anybody
4 else's behalf?

5 A. No.

6 MR. CASEY: I would like to proceed on cross
7 examination, your Honor.

8 MR. MURRAY: I would object, your Honor.

9 THE COURT: Would you make further
10 inquiries, Mr. Casey, in the relationship of what
11 it was and what the relationship was between Dr.
12 Pham and Dr. Janiak, if there was any, other than
13 answering inquiries for you? Proceed into that
14 further.

15 MR. CASEY: Oh sure, your Honor.

16 Q. (by Mr. Casey) Dr. Janiak -- if I may preface
17 this, your Honor -- Dr. Janiak, you previously testified in
18 answer to Mr. Murray's question that you have had some
19 professional relationship and, in fact, an employer-employee
20 relationship with Dr. Pham?

21 A. That is correct.

22 Q. All right. Now, at the time that I first
23 contacted you concerning involvement in this case, all

1 right --

2 A. Yes.

3 Q. -- did you or your corporation have any

4 involvement with the emergency room at Fremont Memorial
5 Hospital?

6 A. Not at all.

7 Q. Did you know Dr. Pham at that time?

8 A. I never heard of him at that point.

9 Q. All right. Had you completed your review of this
10 matter prior to did you say it was --

11 MR. MURRAY: Objection, your Honor. This is
12 leading.

13 MR. CASEY: How is that leading?

14 THE COURT: It's overruled. Go on, Mr.
15 Casey.

16 Q. (by Mr. Casey) Had you completed your review of
17 this matter prior to the time that you came into some sort of
18 an arrangement with Fremont -- the emergency room at Fremont
19 Memorial Hospital?

20 A. Yes, with the exception of the review of the
21 depositions of, I think it was Dr. Lindholm, and then one of
22 the other experts but not Dr. Pham; that had been earlier.

23 Q. All right. And in your capacity in your

1 involvement, Doctor, in this case, have you ever spoken to Dr.
2 Pham personally about this case or anything related to it?

3 A. No, I never have.

4 MR. CASEY: All right. Is that sufficient,
5 your Honor?

6 THE COURT: The Court is going to allow the
7 examination as if on cross examination.

8 MR. CASEY: Thank you, your Honor.

9 Q. (by Mr. Casey) Now, Dr. Janiak, you have
10 testified that --

11 MR. MURRAY: Your Honor, may I, for the
12 record, that's over my objection.

13 THE COURT: Yes, sir. Court notes objection
14 of Mr. Murray as to that allowance. Does that
15 cover it, Mr. Murray?

16 MR. MURRAY: Later, judge, I would like to
17 put my reasons in. I don't want to delay things
18 now.

19 Q. (by Mr. Casey) You were asked some questions by
20 Mr. Murray concerning decubitus x-rays?

21 A. Correct.

22 Q. Now, the x-rays that we've been talking about so
23 far, this one is called an AP view?

1 A. Yes, or PA.

2 Q. PA, and these like this?

3 A. Yes.

4 Q. And that's like this?

5 A. Yes.

6 Q. And this is a lateral view?

7 A. Yes.

8 Q. And that is like this?

9 A. Yes.

10 Q. What is a decubitus view?

11 A. That's when the patient is lying on their side,
12 doesn't a make any difference which side, right or left, and
13 you take an x-ray like this PA view but the patient is like on
14 one side, this is in an upright position, patient is standing
15 up.

16 Q. Now, you have indicated to the ladies and
17 gentlemen of the jury in response to Mr. Murray that in this
18 type of situation a patient such as presented by Renae Bernal
19 that it would be your practice to ask for a decubitus view?

20 A. Correct.

21 Q. And it is the physician who requests the type of
22 view, isn't it?

23 A. That's right.

1 Q. All right. Now, do you have -- and do you have an
2 opinion to a reasonable degree of medical probability, Doctor,
3 as to whether or not Dr. Lindholm, in asking for the views
4 that she asked for, whether she departed from accepted
5 standard of care?

6 A. Yes, I do.

7 Q. What is that opinion?

8 A. No departure.

9 Q. Why?

10 A. It's merely a judgment decision. I am more
11 comfortable doing it one way and that may reflect my own
12 radiological imperfections not being able to read them as well
13 as others. A lot of doctors take these views and make a
14 decision and it's perfectly reasonable.

15 Q. Now, you have reviewed the post -- the report of
16 the post-mortem examination of Renae Bernal?

17 A. Yes.

18 Q. The autopsy?

19 A. Yes, I have.

20 Q. And based on your training and experience, Doctor,
21 does that autopsy report reflect a medical condition known as
22 empyema? I'm saying that wrong, aren't I?

23 A. The correct is empyema.

1 MR. MURRAY: Your Honor, I have another
2 objection.

3 THE COURT: Which is?

4 MR. MURRAY: Which is I have no -- the
5 deposition is very clear, extremely clear as to
6 what Dr. Janiak was going to and not going to
7 testify regarding and this is a subject matter in
8 which he made it clear he would not be testifying
9 to and I can show you the citation.

10 MR. CASEY: To begin with, that's not
11 correct, your Honor, but I am on cross examination
12 and I'm entitled to explore things with the
13 witness.

14 THE COURT: That's correct. You may
15 continue. Objection will be overruled.

16 Q. (by Mr. Casey) What is an empyema?

17 A. Empyema is -- well, first you have to think of the
18 chest and the lung as an organ within the chest, think of each
19 lung separately and you have all this tissue and then surround
20 the tissue -- all right, surround the tissue with a collapsed
21 balloon and you have a balloon with no air in it and you
22 surround the lung with it. In that space is a little bit of
23 fluid. These two surfaces are nice and smooth. We talked

1 before about pleurisy, when it gets irritated, those surfaces
2 rub, that causes pain, and effusion is when there is fluid
3 that collects in that space. If there is pus, yellow thick
4 stuff in that space, it's called empyema.

5 Q. And does the autopsy, as you read it, Doctor,
6 describe any empyema in the body of Renae Bernal?

7 MR. MURRAY: Your Honor, objection, two
8 grounds. Not only no preparation for this because
9 it was not indicated, this goes outside the area.
10 He explicitly told me he would not go beyond the
11 discovery deposition. In addition to that, he's
12 not qualified to speak to it. I can show you
13 that, too.

14 MR. CASEY: Well, I think he's as qualified
15 to speak to it as Dr. Wilson was but Mr. Murray
16 went into this on his own witness.

17 MR. MURRAY: Objection, your Honor. This
18 is stip --

19 THE COURT: I don't know of any such
20 stipulation you keep referring to. I don't have
21 any stipulation if there was --

22 MR. MURRAY: No, your Honor. I'm saying I
23 can bring it to the attention of the Court if

1 necessary.

2 THE COURT: It hasn't been made part of this
3 record.

4 MR. MURRAY: Your Honor, I'm asking for the
5 opportunity to make it a part of the record so
6 that the Court can rule based upon what the man
7 has indicated he was going to limit his
8 examination to and based upon his statement as to
9 his qualifications. He explicitly said, and I can
10 get the citations for you, that he would not be
11 talking about cause of death and in fact said he
12 wasn't qualified to.

13 MR. CASEY: I haven't asked him anything
14 about cause of death, your Honor.

15 THE COURT: Objection overruled.

16 Q. (by Mr. Casey) Did you see any evidence of
17 empyema as reflected in the autopsy?

18 A. I did not.

19 Q. Do you see anything in the autopsy reflecting
20 broken ribs?

21 A. I don't remember anything like that. I frankly
22 didn't even look for that.

23 Q. Let me ask you there is a portion of the

1 microscopic examination of the autopsy, let me read this to
2 you. You've read the microscopic report of the autopsy?

3 A. Yes.

4 Q. Let me read this to you. Microscopic --

5 MR. MURRAY: Judge, I object to Mr. Casey
6 reading material out of an exhibit. If he is
7 going to ask a question --

8 MR. CASEY: It's for the basis of my
9 question, your Honor.

10 THE COURT: Mr. Murray, this is -- the Court
11 has ruled. It is cross examination. I allowed
12 you an extended period of time on cross
13 examination. I'm not going to restrict it as you
14 just requested as long as it is forming the basis
15 and the foundation for his examination or his
16 cross examination. You may continue, Mr. Casey.

17 MR. CASEY: Thank you, your Honor.

18 Q. (by Mr. Casey) The microscopic section reads
19 "microscopic examination of the lungs showed all alveoli in
20 the left lower lobe filled with neutrophils and fibrin. This
21 is a classic microscopic appearance of lobar pneumonia in the
22 transitional stage between red and gray hepatization." Do
23 you recall reading that in your review?

1 A. Yes.

2 Q. Can you explain to us, Doctor, what is being
3 spoken of when they talk about this transitional stage between
4 red and gray hepatization?

5 MR. MURRAY: Objection for the same grounds.

6 THE COURT: Be overruled.

7 A. Certainly I'm not a pathologist, but what that
8 means is it's a way of the pathologist saying that when the
9 lung was real -- it goes from red to gray as you get better
10 from pneumonia and when he is saying it's transitional, it's
11 saying that it was -- it looks a particular way when it's
12 between the two. So when you first get pneumonia, if you cut
13 into your lung, it looks kind of red and when pneumonia is
14 getting better, it looks sort of gray and he says it's
15 transitional, which means it's getting -- turning gray, going
16 from red to gray, and then after that it will come back to
17 normal which is a pink color. So all he is saying is that
18 this lung has started to heal. It's a dumb way to say it but
19 that's how we say it.

20 Q. It's getting better?

21 A. Yes.

22 Q. Turning your attention to the death of this young
23 woman. As you look at the clinical picture as described by

1 Dr. Lindholm in her notes, okay, of her examination?

2 A. Yes.

3 Q. And you look at this PA view x-ray, do you see the
4 picture of a person that you would expect to die from
5 pneumonia within thirty hours?

6 A. No.

7 MR. MURRAY: Objection, your Honor. He's
8 already indicated he's not a pathologist.

9 MR. CASEY: That doesn't require a
10 pathologist, Mr. Murray.

11 THE COURT: Objection be overruled.

12 Q. (by Mr. Casey) Why not?

13 A. Well, the primary -- well, we coordinated two
14 things in your question; one was the clinical picture. I
15 didn't see anything there that would indicate the patient was
16 dying within thirty hours; and then you look at the x-ray and
17 you can see that, as we pointed out, there is part of this one
18 lobe of the lung that is involved which is also corroborated
19 in the autopsy report. The rest of the lung looks pretty good
20 and we know that many people are walking around with only one
21 lung, I mean, they have them removed for surgical reasons.
22 People used to have lungs removed for tuberculosis all the
23 time and when they walk in and you see them with only one

1 lung, you can't look at them and see they have one lung, they
2 don't look sick, they're doing all right, so we know with a
3 pretty clear lung on the right and probably at least half, if
4 not more of the lung on the left, not showing up as being
5 diseased on an x-ray, that that's not consistent with somebody
6 who is about ready to die.

7 Q. Now, you read the autopsy report concerning the
8 findings of the left lung at the time of death?

9 A. Correct.

10 Q. All right. And you will recall that the
11 pathologist also reported on the condition of the right lung
12 after death?

13 A. Correct.

14 Q. All right. Now, what do you make of his reports
15 concerning the condition of the right lung?

16 A. Well, I guess to make it fairly simple, he
17 indicated that there was some disease in the right lung but it
18 weighed a lot less and when you get any organ that gets real
19 sick, it gets a lot of fluid in it, so the weight of the left
20 lung was one and a half times that of the right lung, so that
21 one had a lot more fluid in it, the right lung was relatively
22 normal. There also was a little bit of pneumonia in that
23 lung as I remember, but it was relatively normal.

1 Q. Now, if you put together -- you have testified
2 that based on the clinical picture as reported by Dr. Lindholm
3 on the 11th, based on that clinical picture, you would not
4 have hospitalized this patient?

5 A. Yes.

6 Q. And then if we plug in, as I understand your
7 testimony, if we plug in these x-rays, you still would not
8 have hospitalized this patient?

9 A. That's right.

10 Q. Is there anything in this x-ray that is alarming
11 to you in terms of the immediate well being or prospective
12 death of the patient?

13 A. Nothing.

14 Q. Nothing?

15 A. Nothing.

16 Q. How much pleural effusion -- would you agree with
17 me, Doctor, that it's difficult from such an x-ray to quantify
18 the amount of pleural effusion if it's present?

19 A. It certainly is difficult for me.

20 Q. All right. And you read x-rays every day?

21 MR. MURRAY: Objection, your Honor. He says
22 it's difficult for him. He shouldn't testify to
23 it.

1 MR. CASEY: He answered my question. That

2 was the question I put to him.

3 THE COURT: I understand.

4 Q. (by Mr. Casey) And you read x-rays every day?

5 A. Yes.

6 Q. There is a certain art to the reading of x-rays,
7 isn't there?

8 A. That's right.

9 Q. There is a lot of judgment involved in the reading
10 of x-rays, particularly this kind of x-ray?

11 A. That's right.

12 Q. All right. Would you say there is 1500 cc's of
13 pleural effusion shown on that x-ray?

14 MR. MURRAY: Objection.

15 THE COURT: Overruled.

16 A. I think I would go back to my original comment
17 that I don't think I could quantify it that well, and I would
18 do what I told you I did before. I would take that other film
19 called a decubitus but I wouldn't be able to put a number on
20 it.

21 Q. Would you say that there is perhaps 300 cc's in
22 that film?

23 A. I would say it would be consistent. If somebody

1 told me that there were 300, I would say, well, I believe
2 that's consistent.

3 Q. All right. So, in point of fact, it's very
4 difficult to make any judgment from that x-ray as to quantity?

5 A. Correct.

6 Q. Now, you have the records there of Community
7 Health Service, I think it is. Is the x-ray report done by
8 Dr. Gfoeller in there? It might be in the Memorial Hospital
9 record. You have it, okay. I'm going to turn this other one
10 on. The first part of this report relates to clinical
11 diagnosis, correct?

12 A. Correct.

13 Q. All right. And does that reflect the question
14 that's being asked by the clinician of the radiologist?

15 A. That's information the clinician gives to the
16 radiologist which basically says this is what I think is going
17 on and that's my reason for ordering this particular film.

18 Q. All right. And this particular report states
19 "pneumonia semi colon R. O." Does that mean rule out?

20 A. Yes.

21 Q. "Rule out pleural effusion, purpose of exam,
22 same"?

23 A. Correct.

1 Q. All right. Now, I want you to look at those
2 x-rays. This report reflects "the heart is normal in size and
3 shape." Do you agree with that?

4 A. Yes.

5 Q. "There is pleural effusion in the left lower chest
6 obscuring the diagram." Do you agree with that?

7 A. I have no reason to disagree with that.

8 Q. "Whether or not there could be underlying
9 infiltration one could not tell"?

10 A. Certainly will agree with that.

11 Q. "The lung markings in the left upper lung field
12 and in the right lung are in normal limits and there is no
13 sign of congestive failure"?

14 A. Right.

15 Q. Is that compatible with those x-rays?

16 A. That's right. You can tell that from both the
17 size of the heart and then there is little lines that you see,
18 you have to be up close, you couldn't see them from there, but
19 there are lines that appear in a horizontal fashion in what's
20 called angled here, that would be there if there was
21 congestive failure.

22 Q. "The right lung and diaphragm are clear"?

23 A. Yes, correct.

1 Q. "The bony thorax is normal"?

2 A. Yes.

3 Q. What is the bony thorax?

4 A. That refers to the ribs, the spine in the back and
5 the collar bones on both sides and anything else the
6 radiologist can see when they look.

7 Q. So the impression given by the radiologist in this
8 case, Dr. Gfoeller, was, one, normal heart. Do you agree with
9 that?

10 A. I agree.

11 Q. And, two, pleural effusion in the left lower chest
12 and one could not rule out an underlying infiltration. Do you
13 agree with that?

14 A. I don't have any reason to disagree with that.

15 Q. If one clinician read -- if one person read such
16 an X-ray as having one amount of pleural effusion and another
17 physician read that same X-ray as having a different amount of
18 pleural effusion, would either of them have been negligent?

19 A. Not at all.

20 Q. In fact, you previously testified that what the
21 radiologist does in this instance is give his best estimate?

22 MR. MURRAY: Objection.

23 A. Correct.

1 MR. MURRAY: Objection, your Honor. Can we
2 qualify him as a radiologist now?

3 MR. CASEY: That's what he said, Mr. Murray,
4 in response to your question, Mr. Murray.

5 MR. MURRAY: With no warning, he's now been
6 qualified as a pathologist and radiologist.

7 THE COURT: Objection will be sustained.

8 Q. (by Mr. Casey) All right. For the clinician's
9 purposes, what is the important information that is on those
10 x-rays, a clinician such as Dr. Lindholm, examining a patient
11 such as Renae Bernal?

12 A. Two pieces of information. One is there is
13 something happening which is probably pneumonia in the left
14 lower lobe of the lung; and the second piece of information is
15 the rest of the chest looks all right.

16 MR. CASEY: Okay. Thank you, Doctor. Thank
17 you, your Honor.

18 THE COURT: Mr. Bamman, sir?

19 MR. BAMMAN: No questions.

20 THE COURT: Any redirect?

21 MR. BRAZEAU: No, your Honor.

22 THE COURT: You may step down.

23 MR. MURRAY: Your Honor at this time I

1 would ask for an opportunity for recross
2 examination.

3 THE COURT: You had the opportunity to cross
4 examine the witness and the request is denied.
5 We've been here an extended period of time.

6 MR. MURRAY: For the record, could I ask to
7 recross on just the subjects that -- the new
8 subjects that were brought up particularly in this
9 view and I'm asking the Court to exercise if
10 nothing else --

11 THE COURT: Can you do that? Can you limit
12 to new matters just brought up?

13 MR. MURRAY: Yes.

14 THE COURT: I will allow that within the
15 discretion of the Court, but I'm limiting it to
16 that and the first time that that bound is
17 exceeded, the consent will be withdrawn. You may
18 proceed to that point in the interest of fairness.

19 MR. MURRAY: Thank you.

20 RECROSS EXAMINATION BY MR. MURRAY:

21 Q. Doctor, you granted us you are not a pathologist?

22 A. Absolutely.

23 Q. And would you agree with me then that it might be

1 of some interest to the jury to hear from the coroner and/or
2 the pathologist?

3 MR. CASEY: Objection, your Honor. What
4 does that have to do with it?

5 THE COURT: Sustained. Go on, Mr. Murray.
6 Remember the rules are we're going to matters --
7 strictly new matters brought out on the cross
8 examination by Mr. Casey.

9 MR. MURRAY: Very good, your Honor.

10 Q. (by Mr. Murray) Did you take the opportunity to
11 consult with Mr. Casey during the break between cross
12 examination and --

13 MR. CASEY: Objection.

14 THE COURT: Sustained.

15 Q. (by Mr. Murray) You went through a history, Dr.
16 Janiak, of -- in response to some of the questions about who
17 you testified for in response to Mr. Casey's questions. Is it
18 not also true, sir, that you have consulted with Mr. Casey
19 personally a couple of times?

20 MR. CASEY: That's not a new area, your
21 Honor.

22 THE COURT: Be sustained.

23 Q. (by Mr. Murray) Doctor, do you recall in your

1 deposition that you told me that you would not be speaking
2 about --

3 MR. CASEY: Objection, your Honor.

4 THE COURT: Sustained.

5 Q. (by Mr. Murray) Would you agree with me, Doctor,
6 that with respect to the things just elicited by Mr. Casey
7 that --

8 MR. CASEY: What things? Vague, your Honor.

9 THE COURT: Be sustained.

10 Q. (by Mr. Murray) With respect to the opinions
11 elicited by Mr. Casey that Dr. Patricia Lindholm would, based
12 upon your review of her deposition, disagree --

13 MR. CASEY: Objection, your Honor. He's
14 asking about what somebody else would agree or
15 disagree with him.

16 THE COURT: The objection will be sustained.

17 Mr. Murray, sir?

18 Q. (by Mr. Murray) Dr. Janiak, now that you have
19 shared with us the history of having previously consulted with
20 Mr. Casey --

21 MR. CASEY: I'm going to object already.
22 your Honor. I mean, where are we going here? The
23 Court allowed Mr. Murray recross examination for a

1 very limited purpose. He hasn't yet hit on that
2 purpose.

3 THE COURT: Mr. Murray, I allowed you to do
4 that and I was very careful on that, and in the
5 interest of justice, I allowed you, which is
6 unusual in my discretion, to recross even though
7 there was no redirect, and I asked you to limit
8 yourself to items and I instructed you to limit
9 yourself to new items brought out on the cross
10 examination by Mr. Casey. Please do that.

11 MR. MURRAY: One question, your Honor, was
12 clearly brought out.

13 Q. (by Mr. Murray) Dr. Janiak, it was clearly
14 brought out that you would -- that you had had previous
15 consultation with Mr. Casey?

16 MR. CASEY: Objection, your Honor. He went
17 into our --

18 THE COURT: That was discussed on your own
19 cross examination.

20 Q. (by Mr. Murray) Doctor, do you expect to be paid
21 for these previous consultations that you had with Mr. Casey?

22 MR. CASEY: Of course he does.

23 A. I don't know what you mean by "previous

1 consultations".

2 THE COURT: It is conceded.

3 MR. CASEY: Conceded.

4 A. I probably have been paid. I don't expect to be
5 paid any more.

6 Q. (by Mr. Murray) In your deposition, Doctor, did
7 you not mention \$250.00 an hour rather than \$200.00?

8 A. I don't remember. Whatever it says there. I just
9 don't remember.

10 Q. So, you wouldn't dispute it if I said it was
11 \$250.00?

12 A. I wouldn't argue with you.

13 MR. MURRAY: No further questions.

14 THE COURT: Wait a minute. Anything
15 further?

16 MR. CASEY: No.

17 THE COURT: Now you may step down, Doctor.
18 Thank you. Now, ladies and gentlemen of the jury,
19 we're going to and I apologize to you so very
20 sincerely about keeping you sitting in that box
21 all day, we're going to take a recess for an hour
22 now. I hope there is some refreshments some place
23 that you can get now. If not, I have water.