

211293

ORIGINAL

STATE OF OHIO)
)
COUNTY OF STARK)

COURT OF COMMON PLEAS

G. IAN CRAWFORD, Guardian and)
Next Friend of Richard White,)
)
 Plaintiff,)
)

vs.)

Case No. 88-1291

DR. MILAND B. SANWARKEDER,)
et al.,)
)
 Defendant.)

- - -

Deposition of BRUCE D. JANIAC, M.D., a
Witness herein, called by the Plaintiff as if upon
Cross-Examination under the Ohio Rules of Civil
Procedure, taken before me, the undersigned, Renee
E. Brass, a Notary Public in and for the State of
Ohio, at the Toledo Hopsital, 2142 North Cove
Boulevard, Toledo, Ohio, on Tuesday, June 19, 1990
at 1:25 p.m.

.....
C O L L I N S R E P O R T I N G S E R V I C E , I N C .

Registered Professional Reporters

405 North Huron Street Toledo, Ohio 43604

(419) 244-9385

100

100

100

100

100

100

100

OBJECTIONS DR. BRUCE D. JANIAK

| | | | PG | LN |
|--------------------|-----------|--------------------|----|----|
| of all, let me | OBJECT | , go on record as | 28 | 19 |
| MR. SCHOBERT: | OBJECT | . A. I'm | 51 | 1 |
| I am going to | OBJECT | to the | 74 | 2 |
| MR. SCHOBERT: | OBJECT | . | 77 | 17 |
| MS. MINKLER: | OBJECT | . | 77 | 18 |
| MR. SCHOBERT: | OBJECT | . You are arguing. | 82 | 4 |
| MR. SCHOBERT: | OBJECT | . Q. | 82 | 8 |
| to a | OBJECTION | to any of that. We | 28 | 20 |
| MR. SCHOBERT: | OBJECTION | . A. | 30 | 22 |
| MR. SCHOBERT: | OBJECTION | . A. | 32 | 11 |
| MR. SCHOBERT: | OBJECTION | . Are you | 33 | 14 |
| MR. SCHOBERT: | OBJECTION | . A. I | 36 | 22 |
| MR. SCHOBERT: | OBJECTION | . A. I | 39 | 13 |
| MR. SCHOBERT: | OBJECTION | . Go ahead, | 46 | 8 |
| MR. SCHOBERT: | OBJECTION | . A. | 46 | 20 |
| MR. SCHOBERT: | OBJECTION | . A. It | 48 | 9 |
| MR. SCHOBERT: | OBJECTION | . A. I | 54 | 12 |
| that. | OBJECTION | . I am confused. | 54 | 16 |
| SCHOBERT: Note my | OBJECTION | . A. | 54 | 22 |
| MR. SCHOBERT: | OBJECTION | . Q. | 56 | 8 |
| MR. SCHOBERT: | OBJECTION | . A. | 57 | 2 |
| MR. SCHOBERT: | OBJECTION | . Q. Is | 57 | 19 |
| MR. SCHOBERT: | OBJECTION | . Q. -- | 58 | 12 |
| MR. SCHOBERT: | OBJECTION | . A. I | 59 | 10 |
| MR. SCHOBERT: | OBJECTION | . A. | 59 | 17 |
| MR. SCHOBERT: | OBJECTION | . A. | 59 | 21 |
| MR. SCHOBERT: | OBJECTION | . Q. | 62 | 14 |
| MR. SCHOBERT: | OBJECTION | . A. | 62 | 18 |
| MR. SCHOBERT: | OBJECTION | . A. | 64 | 7 |
| MR. SCHOBERT: | OBJECTION | . A. | 65 | 5 |
| MR. SCHOBERT: | OBJECTION | . A. | 67 | 8 |
| MS. MINKLER: | OBJECTION | . | 78 | 20 |
| MS. MOORE CARULAS: | OBJECTION | . A. | 78 | 21 |
| MS. MINKLER: | OBJECTION | . A. | 79 | 22 |
| MS. MOORE CARULAS: | OBJECTION | . A. | 79 | 24 |
| MS. MOORE CARULAS: | OBJECTION | . Q. | 80 | 18 |
| MR. SCHOBERT: | OBJECTION | . | 80 | 23 |
| MS. MINKLER: | OBJECTION | . | 80 | 24 |
| MS. MOORE CARULAS: | OBJECTION | . A. I | 81 | 1 |
| MR. SCHOBERT: | OBJECTION | . Q. | 81 | 12 |
| MR. SCHOBERT: | OBJECTION | . Asked and | 82 | 24 |
| MR. SCHOBERT: | OBJECTION | . Q. | 83 | 8 |
| MR. SCHOBERT: | OBJECTION | . Q. Is | 85 | 4 |
| MR. SCHOBERT: | OBJECTION | . A. | 86 | 5 |
| MR. SCHOBERT: | OBJECTION | . That's not | 87 | 4 |
| MR. SCHOBERT: | OBJECTION | . A. | 90 | 9 |
| MS. MINKLER: | OBJECTION | . A. | 90 | 20 |

OBJECTIONS DR. BRUCE D. JANIAR

| | | | PG | LN |
|--------------------|---------------------------|-------|-----|----|
| MS. MINKLER: | OBJECTION . | A. | 91 | 1 |
| MR. SCHOBERT: | OBJECTION . | A. I | 96 | 4 |
| MR. SCHOBERT: | OBJECTION . | A. | 96 | 19 |
| MR. SCHOBERT: | OBJECTION . | A. | 97 | 2 |
| MR. SCHOBERT: | OBJECTION . | A. | 100 | 7 |
| MR. SCHOBERT: | OBJECTION . | Q. | 100 | 19 |
| MR. SCHOBERT: | OBJECTION , that's not -- | | 101 | 4 |
| I withdraw the | OBJECTION | | 101 | 10 |
| my reason for my | OBJECTION . | Q. | 101 | 12 |
| MR. SCHOBERT: | OBJECTION . Withdraw | | 103 | 9 |
| Withdraw | OBJECTION . | A. I | 103 | 10 |
| MR. SCHOBERT: | OBJECTION . | | 104 | 9 |
| MR. SCHOBERT: | OBJECTION . | Q. Is | 104 | 22 |
| MR. SCHOBERT: | OBJECTION . | A. I | 105 | 5 |
| MR. SCHOBERT: | OBJECTION . | A. | 105 | 18 |
| MR. SCHOBERT: | OBJECTION . Asked and | | 107 | 13 |
| MR. SCHOBERT: | OBJECTION . | A. | 108 | 7 |
| MR. SCHOBERT: | OBJECTION . | | 108 | 13 |
| MS. MINKLER: | OBJECTION . | A. | 108 | 14 |
| MR. SCHOBERT: | OBJECTION . | Q. | 109 | 6 |
| MR. SCHOBERT: | OBJECTION . | | 110 | 4 |
| MS. MINKLER: | OBJECTION . | A. | 110 | 5 |
| MS. MOORE CARULAS: | OBJECTION . | | 110 | 21 |
| Her: | OBJECTION . | Q. | 110 | 22 |
| MS. MINKLER: | OBJECTION . | | 111 | 7 |
| MS. MOORE CARULAS: | OBJECTION . | | 111 | 8 |
| MR. SCHOBERT: | OBJECTION . | Q. | 111 | 9 |
| MR. SCHOBERT: | OBJECTION . | Q. -- | 114 | 16 |

APPEARANCES:

On behalf of the Plaintiff:

SPANGENBERG, SHIBLEY, TRACI & LANCIONE:
Robert V. Traci
1500 National City Bank Building
Cleveland, Ohio 44114-3062
(216) 696-3232

On behalf of the Defendant Dr. Sanwardeker:

JACOABSON, MAYNARD, TUSCHMAN & KALUR:
Anna Moore Carulas
1001 Lakeside Avenue, Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600

On behalf of Defendant Dr. Davis, Dr. Paulino and
Vital Medical:

BUCKINGHAM, DOOLITTLE & BURROUGHS:
Jeffrey E. Schobert
3721 Whipple Avenue
North Canton, Ohio 44735-5548

On behalf of Defendant Dr. Jeun:

AMERMAN, BURT & JONES CO., L.P.A.:
Patricia P. Minkler
624 Market Avenue, North
Canton, Ohio 44702
(216) 456-2491

- - -

1 BRUCE D. JANIAK, M.D.,
2 a Witness herein, called by the Plaintiff as if upon
3 Cross-Examination, was by me first duly sworn, as
4 hereinafter certified, and deposed and said as follows:

5 - - -

6 CROSS-EXAMINATION

7 BY MR. TRACI:

8 Q. Doctor, would you be kind enough to state
9 your full name and office address for us, please.

10 A. Bruce David Janiak, 2142 North Cove
11 Boulevard, Toledo, 43606.

12 Q. I am going to hand you a copy of your
13 curriculum vitae that we got from Mr. Schobert through
14 you, I guess, and ask you whether or not that's a current
15 copy of it and whether you have any additions to make to
16 it.

17 A. Yes. This is not a current copy, and the
18 additions revolve around the heading American Board of
19 Emergency Medicine. I am currently president elect of
20 that board. I have served as secretary/treasurer.

21 Q. Is that board listed on your resume?

22 A. Right.

23 MR. SCHOBERT: It's the second --

24 Q. You were a member of the board of directors

1 from '86 to '90, and you were just made president elect of
2 that group?

3 A. I assume the presidency next month.

4 Q. You've been president elect this past year?

5 A. Right.

6 Q. Okay. Are there any other additions?

7 A. That's the only one of any significance that
8 I know of.

9 Q. Any other articles?

10 A. No, no articles.

11 Q. Okay. On your CV on the last page you list
12 Emergency Management Consultants, partner, can you tell us
13 what the group is.

14 A. I used to work with Dr. Michael Ervin in
15 Dayton, Ohio, and I had a consulting business for about
16 four or five years, in which we would respond to requests
17 from colleagues in emergency departments throughout the
18 United States and give them advice on whatever area they
19 were interested in, giving advice on what we thought, what
20 we know.

21 Q. This is advice to emergency groups that staff
22 emergency departments?

23 A. Sometimes it would be emergency groups.
24 Sometimes it would be hospital administrators who would

1 call.

2 Q. Okay. What types of things would you be
3 giving advice on?

4 A. Almost all the time it would be advice on the
5 management aspects of the emergency department, usually
6 involving on how to improve such things as quality
7 assurance, how to deal with staffing, once on hiring a new
8 emergency director and helping with the contract for that
9 director.

10 Q. That was with Dr. Michael Ervin?

11 A. Yeah.

12 Q. Could you spell the last name.

13 A. E-R-V-I-N.

14 Q. Was that a corporation? It says here
15 partnership. Was it just a partnership?

16 A. I think it was a partnership. I can't
17 remember.

18 Q. Okay. And is that still in operation?

19 A. No. That has not been in operation for, I
20 think, five years.

21 Q. Okay. Now, it says president Professional
22 Emergency Services.

23 A. I am, yes.

24 Q. Are you still in that?

1 A. Yes.

2 Q. What's that?

3 A. That's a name of the group that has the
4 contract to provide the professional staffing in the
5 emergency department at the Toledo Hospital.

6 Q. Okay. So the ER physicians who work under
7 contract with the hospital -- let me strike that.

8 Toledo Hospital has a contract with
9 Professional Emergency Services to staff their hospital?

10 A. Correct.

11 Q. The emergency room?

12 A. Correct.

13 Q. You are president of that group?

14 A. That's right.

15 Q. How many members are in that group,
16 physicians? Does that keep changing?

17 A. That keeps changing. Right now there are
18 seven full-time emergency physicians and about 12 to 15
19 pediatricians that get paid by that group.

20 Q. Okay. Do you staff any other hospitals?

21 A. No.

22 Q. This is the only one?

23 A. That's right.

24 Q. Okay. Then it says president EMB

1 Professionals, Inc.

2 A. Correct.

3 Q. What is that?

4 A. That's a billing company headquartered in
5 Dayton, Ohio which provides billing services for emergency
6 physicians at probably seven hospitals throughout Ohio.
7 We still do one in Kentucky. I think it's Ohio and
8 Kentucky.

9 Q. Do they bill for Professional Emergency
10 Services, Inc.?

11 A. Yes, they do.

12 Q. Okay. Do you belong to any of the expert
13 witness services?

14 A. I do not.

15 Q. Have you ever?

16 A. I have never.

17 Q. Okay. How is it that Mr. Schobert came in
18 contact with you in this case?

19 A. I guess I would not able to answer that
20 question to any detail. I know that I have testified
21 before and perhaps they heard of me from some other
22 attorneys somewhere in that part of the state. There is,
23 I believe, one other case that -- I can't remember the
24 name of -- I am working with that group, but that case --

1 I just don't remember.

2 Q. What group, meaning with the law firm?

3 A. Yes.

4 Q. Is that Buckingham, Doolittle & Burroughs?

5 A. Yes.

6 Q. Have you ever had contact with Mr. Schobert
7 and the other attorney, Mark Fraser, prior to your
8 involvement in this case from that firm?

9 A. I don't believe so.

10 Q. Mark Fraser represents Dr. Paulino in this
11 case, that's Mr. Schobert's partner.

12 A. No, I have not. I don't remember talking to
13 him, unless someone else did.

14 MR. SCHOBERT: Wish I was a partner.
15 Still not a partner yet.

16 MR. TRACI: Okay. I should interject,
17 he should be a partner in that firm. I think
18 that ought to be on the record.

19 MS. MINKLER: I do too.

20 MR. TRACI: We'll stipulate --

21 MR. SCHOBERT: Get the checkbook
22 out right now.

23 Q. Okay. You have been a practicing emergency
24 room physician since 1972?

1 A. Practicing emergency physician, correct,
2 since 1972.

3 Q. That's when you completed your residency?

4 A. That's right.

5 Q. Starting in 1972 up to the present time, have
6 you been reviewing cases and acting as an expert witness
7 in medical malpractice cases?

8 A. No, I have not.

9 Q. How long have you been doing that kind of
10 work?

11 A. I believe the first case I did was somewhere
12 in the late 70's, and I don't believe I did another case
13 for perhaps seven, eight years. In the last six years is
14 when I have done more cases, and I have probably reviewed
15 maybe 40 cases in six years.

16 Q. All told?

17 A. All told.

18 Q. Does that include a review even on a case
19 that you have rejected involvement on?

20 A. Yes, indeed.

21 Q. Okay. How many cases of the, say, 35 that
22 you have reviewed have you actually become an expert
23 witness in?

24 MR. SCHOBERT: You mean given

1 deposition testimony?

2 MR. TRACI: Well, no. Retained as an
3 expert witness that prepared a report.

4 MR. SCHOBERT: Okay. Fine. Just
5 wanted to qualify. You made a
6 differentiation already.

7 Q. Okay.

8 A. Probably 20.

9 Q. Okay. Of those 20 cases, how many have been
10 on behalf of the claimant or the patient and how many have
11 been on behalf of the defendant physician or group?

12 A. Probably 80 percent defense and 20 percent
13 plaintiff.

14 Q. Okay. And have they all been cases involving
15 emergency services?

16 A. Well, I should point out there is a couple of
17 civil cases that I have been asked to review, so they have
18 not all been. But all the medical-legal cases have
19 been -- or all the malpractice have been related to
20 emergency medicine.

21 Q. What type of civil cases have you been asked
22 to review? You piqued my curiosity.

23 A. Well, it was strange to me too, but there was
24 a case of a patient that was riding a motor bike who ran

1 into a pole and was suing the municipality that owned that
2 area. I think it was near a playground or ball field, and
3 there was something -- the allegation was that the pole
4 was supposed to be tied in a certain way, and it was not,
5 and he hit it and died. And I was asked to give my
6 opinions as to how serious his injuries were, how
7 salvagable he was after the injury, those kinds of things.

8 Q. Okay. So the lion's share of the cases you
9 have reviewed have been medical-legal ones involving the
10 claim of potential negligence on behalf of the emergency
11 room physician or group?

12 A. I don't believe I've ever been asked to
13 review anything that was not from an emergency physician,
14 and indeed I wouldn't feel qualified to do it for
15 in-patient medicine.

16 Q. Okay. How many times have you been deposed?

17 A. Probably 15.

18 Q. Does that include trial or just a discovery
19 deposition like today?

20 A. That includes all of them, both.

21 Q. Okay. How many times have you actually
22 appeared in court?

23 A. Three.

24 Q. Can you name, in any of the cases where you

1 appeared in court to testify, the name of the lawyers who
2 were involved in the case or names of cases?

3 A. Give me a moment to think. Bill Connelly.

4 Q. Bill Connelly?

5 A. C-O-N-N-E-L-E-Y, (sic) something like that,
6 here in Toledo was one of the attorneys. That particular
7 was -- he was a plaintiff's attorney.

8 Q. That was a trial?

9 A. Correct.

10 Q. Okay.

11 A. Tim Krugh, K-R-U-G-H, that was a defense.
12 That was here in Toledo, but his firm is not Krugh. I
13 forget the -- I think it's Robison, Curphey & O'Connell is
14 the name of his firm. I am sorry. There were four, not
15 three.

16 Q. Okay.

17 A. One was in Green Bay, Wisconsin. I don't
18 remember the name of the attorney. The other one was in
19 Florida. I can't think of her name right now.

20 Q. Of the 20 cases you've been involved in, that
21 you have been duly accepted to be an expert witness in,
22 how many of those have been in Ohio?

23 A. All except the three that I mentioned. No.
24 I am sorry. That's not correct. I think the firm in

1 Florida has asked me to review two others. I'm trying to
2 be accurate. Apart from the two in Wisconsin --

3 Q. You don't need to give me that much detail.

4 A. Four and four. I am trying to answer.

5 Q. Fine. Give me a plaintiffs, defense. Who in
6 Ohio -- so we can cut through that and be more frank about
7 it, give me the name of a plaintiff's attorney.

8 A. Lafferty, L-A-F-F-E-R-T-Y.

9 Q. From where?

10 A. He's here in Toledo. He has deposed me once.

11 Q. Has Marty Williams ever deposed you?

12 A. No, I don't think so.

13 Q. Do you know Marty Williams?

14 A. He is in the same firm as Lafferty.

15 Q. Okay. Anybody from Cleveland and Akron or
16 Canton?

17 A. There was one from Cleveland long ago. I
18 can't remember the name.

19 Q. Tell me, if you would, what materials were
20 you provided by Mr. Schobert in your review of this case.

21 A. I have a list of materials here.

22 Q. Okay.

23 A. Try to --

24 Q. If you would, if you would when you give me

1 this list, give me the list only up through the
2 preparation of your report in this case. There were
3 additional materials that you --

4 MR. SCHOBERT: There's two reports.

5 You mean the first one and the second?

6 MR. TRACI: The first one.

7 MR. SCHOBERT: If you can, Doctor, go
8 ahead.

9 MR. TRACI: If you can recollect.

10 MR. SCHOBERT: It just comes in a pack.

11 A. I don't think I can do that.

12 Q. Just do this for me, answer this question,
13 since you have written both of your reports and those were
14 in, have you received any transcripts of any of the
15 arbitration testimony?

16 A. No, I have never seen that.

17 Q. Okay. Have you ever received any materials
18 in writing after the submission of your second report?

19 A. Yes. The deposition of Dr. Schuda.

20 Q. Okay.

21 A. S-C-H-U-D-A.

22 Q. And any other depositions?

23 A. Yes. Cunningham. That was after.

24 Q. Okay. Is that --

1 A. That's all I remember, yeah.

2 Q. You received Dr. Schuda's deposition that was
3 just taken last --

4 A. This morning I did.

5 Q. Did you read it?

6 A. Yes, I did.

7 Q. Okay. How did you get it this morning?
8 Federal Expressed it up?

9 A. I don't have any idea.

10 MR. SCHOBERT: Uh-huh.

11 MR. TRACI: Okay.

12 MR. SCHOBERT: There is more to that.
13 I sent him some of those reports that gave
14 me --

15 Q. Did you receive the reports of the experts
16 for the plaintiff in this case?

17 MR. SCHOBERT: I think you did.

18 A. Yes, I did, but I don't remember the timing.
19 I'm trying to refer to your question.

20 Q. Why don't you give me a quick list, because
21 your reports don't indicate which materials you had.

22 A. Right. The reports that I received were from
23 Lipton, Schuda, Quinn, Fefferman (phonetic) and Kenney and
24 the final was a copy of my own. The records were from --

1 emergency records from Doctors Hospital and from Timken
2 Mercy and some in-patient records, and the depositions
3 were Cunningham, Jeun, J-E-U-N, Schuda, Davis, and then
4 there's -- I have some memory of something from a nurse,
5 but I -- I know I saw a deposition of a nurse.

6 Q. Okay. Do you know which nurse? Was it a
7 nurse from Timken-Mercy or was it our nursing expert or --

8 A. No, I --

9 MR. SCHOBERT: If you don't remember --

10 A. It seems to be Mullvey, something like that.

11 Q. Mulvaney?

12 A. That's right, Mulvaney.

13 MR. SCHOBERT: I may have sent that to
14 him a long time ago.

15 A. I can't remember where that came from.

16 MR. SCHOBERT: I forget.

17 Q. Did you receive the transcript of the
18 arbitration testimony of Dr. Davis?

19 A. No, I did not.

20 Q. Just his original deposition?

21 A. I didn't see anything from the arbitration.
22 As a matter of fact, I didn't even know there was an
23 arbitration.

24 Q. Okay. You didn't know there was an

1 arbitration?

2 A. No. It may have gone in one ear and out of
3 the other.

4 Q. Mr. Schobert didn't tell you?

5 A. I heard about it this morning. I didn't know
6 there was one.

7 Q. Did you know you won in the arbitration?

8 A. He told me.

9 Q. Apparently you were very convincing to the
10 arbitrators.

11 A. He told me that.

12 Q. I just thought you'd like to know that.

13 A. Thank you.

14 Q. Did you --

15 MR. TRACI: Off the record.

16 (A discussion was held off the record.)

17 Q. Did you use any particular literature in the
18 preparation of your report?

19 A. I did not.

20 Q. Is there any journals or literature in the
21 field that you are an expert in that you consider to be
22 particularly authoratative?

23 A. No, there is not.

24 Q. Is there any that you refer to in your

1 practice in keeping up to date that you consider to be
2 informative?

3 A. There are a number of publications, both
4 texts and journals that I will look up, very specifically
5 look up items in.

6 Q. You don't consider those periodicals or texts
7 to be generally authoratative, but they can have useful
8 information?

9 A. That's correct.

10 Q. Can you tell me what -- do you, for example,
11 subscribe to -- what is it, the Annals of Emergency
12 Medicine?

13 A. Yes, I do.

14 Q. Any other periodicals like that?

15 A. Sure. There is the Journal of Emergency
16 Medicine, and there is the American Journal of Emergency
17 Medicine.

18 Q. Any others that you regularly subscribe to
19 and use, know they're out there somewhere in the
20 marketplace?

21 A. Let me give you the list. You may not even
22 want the list. There are many journals I do review on a
23 regular basis because I am editor of a taped journal which
24 basically provides audio abstracts of articles of interest

1 to emergency physicians. And so I will review probably 15
2 or 20 journals on a monthly basis to see if there are
3 appropriate articles in them I might abstract. I'd be
4 happy to give you that list, if that's -- if you'd like
5 that.

6 Q. Okay. Is that a list that you would have
7 available easily?

8 A. It's in my head. Probably could name most of
9 them.

10 Q. I don't want to take the time. I'm not going
11 to take the time to go look at them anyway. That's just a
12 question all lawyers pose.

13 A. I understand. I can tell you that it would
14 not be helpful to you in the most honest way I can. I
15 just don't want to waste your time.

16 Q. That's what I figured. Are there any texts
17 that you have available to you that you periodically refer
18 to in the field of emergency medicine?

19 A. Sure. There's Schwarts Principle and
20 Practice of Emergency Medicine over there on the wall that
21 I probably look at once a week. There is another one that
22 I look at more often called Surgical Anatomy, which Young
23 is the author. And there is the Study Guide on Emergency
24 Medicine which I believe I review or look at certain

1 portions once a month maybe.

2 Q. Okay. What is Janiak Consulting, Inc.?

3 A. I told you before that I have done a number
4 of reviews of legal cases. When I do that, you do get
5 paid, but I get it basically as an independent contractor,
6 so no taxes are taken out. I find that it is much safer
7 for me to deposit that money in an entity that is forced
8 to pay taxes or else I may be faced with large income tax
9 bills, so I incorporated just to be able to solve that
10 problem.

11 Q. So that group is just involved with the
12 review of legal matters?

13 A. No. That group is not a group. It's just
14 me. That group will also respond to requests from
15 individual hospitals and do the work that the other
16 corporation did, which I talked about earlier, the other
17 entity, Emergency Management Consultants, and I do some of
18 that on my own.

19 Q. What is your normal week like in terms of
20 what do you do? Do you teach, do you work in the ER, do
21 you write? What do you do?

22 A. Well, I do all those things. Normally I come
23 in about eight o'clock in the morning and usually will end
24 up responding to letters or reviewing charts. Then I

1 will --

2 Q. Review charts for what?

3 A. The patients that are seen in the emergency
4 department are all evaluated obviously by physicians.
5 Sometimes after that evaluation and treatment, a patient
6 will have a question or complaint or concern. That chart
7 will come on my desk. Sometimes a nurse will have a
8 concern, and that chart will come on my desk. Sometimes a
9 resident will have a complaint. They come from all these
10 sources. The charts come to my desk every day, and I
11 review the charts with specific reference to not only the
12 problems that the complainant has but also to the quality
13 of emergency care.

14 Q. When that's brought to your attention -- you
15 don't on a regular basis in your emergency room review all
16 the charts of all the people that come through?

17 A. That would be completely impossible. I don't
18 think so. So by the time I finish that, the department is
19 usually a little busier, and on four or five days I am
20 called to see patients, and I go out and see them. Other
21 days I am actually scheduled to see patients. I don't
22 have a chance to get into the office, so --

23 Q. Scheduled to see patients in the emergency
24 room here?

1 A. Right, yes. I am on the schedule like
2 everyone else.

3 Q. How many days a week do you work on the
4 schedule?

5 A. Well, it varies. In the last week I worked
6 five days. The week before I worked three days. Depends.
7 Every week is different.

8 Q. Do you work three days, at least, every week?

9 A. Not scheduled, but I see patients every day.

10 Q. Well, I'm talking about scheduled. Over the
11 last six months what would you say the average week is in
12 terms of scheduled in the ER?

13 A. Scheduled in the ER to see patients probably
14 15 hours a week.

15 Q. Okay. And if you are around here doing other
16 work and there's a backup, whoever the physician is that's
17 on the schedule can't get to everybody to assess the
18 problem, then you are called in, then you will assist?

19 A. Right, correct.

20 Q. Okay. Your resume says that you are a
21 clinical associate professor of EMS, Department of Surgery
22 for the Medical College of Ohio at Toledo.

23 A. Yes.

24 Q. From '84 to the present?

1 A. Correct.

2 Q. Although it says at the bottom, "Please do
3 not use this appointment as a citation in any brochure."

4 A. Correct.

5 Q. Can you tell me why? That piques my interest
6 again.

7 A. Two reasons. It always piques the interest
8 of attorneys, so I get a chance to discuss this.

9 Q. Do you do that to --

10 MR. SCHOBERT: You fell right into it.

11 A. I have already accomplished 80 percent of my
12 goal. The reason is that I do speak around the nation,
13 and my official affiliation is at Toledo Hospital.
14 Unfortunately, organizations that ask you to speak focus
15 on affiliations with medical colleges. I have been in
16 another area of the country to speak and the brochure will
17 come out that says, "Bruce Janiak from the Medical College
18 of Ohio will be speaking." You can imagine that my
19 administrators are not totally pleased with the fact that
20 Toledo Hospital doesn't get mentioned, so I had to add
21 that in there to prevent people who make up the brochures
22 from having me primarily affiliated with the Medical
23 College rather than with this hospital.

24 Q. Why don't you do the same with the clinical

1 associate professor, which is right above --

2 A. I can't tell you. I thought that's what it
3 refers --

4 MR. SCHOBERT: That's current
5 appointments, but --

6 A. Maybe that's it. At any rate, it's worked
7 perfectly. I have not been listed as affiliated with the
8 Medical College of Ohio in any brochures since then, so --

9 Q. And you are board certified in emergency
10 medicine?

11 A. That's right.

12 Q. And you are on the staff at Toledo Hospital;
13 is that right?

14 A. That's right.

15 Q. You also have there the University
16 Association for Emergency Medicine.

17 A. Correct. It's an organization that doesn't
18 exist because it merged with another one. It's now called
19 Associated -- or Academic Emergency Medicine. Doesn't it
20 say that? Maybe not. That merger just took place a few
21 months ago.

22 Q. What does that group do?

23 A. It's a mechanism of bringing together those
24 physicians who have a strong interest in teaching in

1 emergency medicine, and the common problem was dealing
2 with residents, how to deal with discipline and how to
3 teach are discussed, and it's a forum for improving what
4 we do.

5 Q. Okay. Do you consider yourself an expert in
6 anything other than emergency medicine?

7 A. Childcare, but that's all.

8 Q. Okay.

9 MR. SCHOBERT: He's got nine of them.

10 Q. You've got nine of them?

11 A. Yes, sir.

12 Q. I am one of 11. We are both from active
13 Jewish families.

14 A. Why not.

15 Q. Do you have your reports there in front of
16 you?

17 A. Yes, sir, I believe I do.

18 Q. On your first report dated April 24, 1990 to
19 Mr. Schobert, you have in your first sentence that you
20 reviewed the materials, which you already told me about --

21 A. Yes, sir.

22 Q. -- with the view towards determining any
23 deviation from the standard of care delivered to Mr. White
24 on March 7, 1987. First of all, was your view only

1 directed to the conduct of the emergency room department
2 at Timken Mercy Hospital rather than to Dr. Paulino or Dr.
3 Jeun or whoever else was involved?

4 A. Yes.

5 Q. I don't think I left anybody out.

6 A. Correct.

7 Q. Do you intend to have any opinions of any
8 kind involving anybody other than Dr. Davis or the
9 emergency room group that he's associated with at Timken
10 Mercy Hospital?

11 A. Well, I think if prepared, I can render
12 opinions regarding the emergency care either at Doctors
13 Hospital or Timken Mercy. Otherwise I don't have any
14 other opinions on other things that happened.

15 Q. Have you been asked to review the emergency
16 care at Doctors Hospital?

17 A. That was part of the package, but I was not
18 specifically asked to criticize or deal with that.

19 Q. Do you intend to be -- first of all, I don't
20 think it's relevant.

21 MR. SCHOBERT: That's an argument for
22 the court, but I did not ask him to tell
23 whether he met the standard of care. I am
24 certain if he has those records, certainly he

1 can tell us his views of the records.

2 Q. That's fine. I just wanted to make sure that
3 if he is going to express opinions about that, then I want
4 to know about it. If not --

5 MR. SCHOBERT: He wasn't asked to
6 render any opinion, so --

7 MR. TRACI: You are dancing around
8 the question. Do you intend to ask him for
9 that? If you do, I will ask him about it.
10 If not then --

11 MR. SCHOBERT: I intend to ask him
12 questions about care provided as it relates
13 to care provided by Dr. Davis, yes, I do.

14 MR. TRACI: That would be a comparison
15 of those two?

16 MR. SCHOBERT: Yes.

17 Q. Do you have a --

18 MR. TRACI: First of all, let me
19 object, go on record as reserving my right
20 to a objection to any of that. We have had
21 that argument before.

22 MR. SCHOBERT: I understand it may not
23 be relevant to anything else, but since he is
24 going to do that --

1 Q. Have you formulated any opinion concerning
2 the conduct of the physicians at Doctors Hospital on
3 3-7-87?

4 A. Yes, I have.

5 Q. What is that opinion?

6 A. That they met the standard of care evaluating
7 this patient.

8 Q. Okay. In your report you indicate that
9 there was a note in the record that the gas was on in the
10 room?

11 A. Correct.

12 Q. Correct?

13 A. Yes.

14 Q. Did you get that from Dr. Davis' dictated
15 note?

16 A. Well, I wish I could answer that
17 specifically. I may have seen that somewhere else. I may
18 have had a copy of the ambulance run on that.

19 Q. I'm not sure of any ambulance run that --

20 MR. SCHOBERT: I am not sure of it
21 either. Off the record.

22 (A discussion was held off the record.)

23 A. Well, I seem to remember something about an
24 ambulance run.

1 Q. Did Dr. Davis in his dictation say he may
2 have been trying to gas himself because the stove was on?

3 A. That's right.

4 Q. Okay. Well, a stove being on is not trying
5 to gas yourself. You have two kinds of -- just because
6 the stove is on, that doesn't mean you are trying to gas
7 yourself?

8 A. That's correct, sure.

9 Q. I want to know if anywhere specifically in
10 the records you found that and whether or not that was
11 significant in your opinion.

12 A. Well, first of all, I don't have a specific
13 recollection right now of where I found that. And,
14 secondly, I don't think that's very significant in my
15 opinion.

6
16 Q. Okay. The reason I am asking these questions
17 in terms of significance is because you have gone through
18 and selectively taken portions of Dr. Davis' dictation,
19 and I assume since you did that, that you found those to
20 be of some significance to you in your evaluation; is that
21 a fair statement?

22 MR. SCHOBERT: Objection.

23 A. That's right.

24 Q. For example, you have down in your evaluation

1 or in your report that Davis' evaluation included the
2 history that the patient was a slow learner, correct?

3 MR. SCHOBERT: You are asking if that's
4 in the reports?

5 MR. TRACI: Yes, I am asking if that's
6 in the reports.

7 A. It says included a history which revealed
8 that the patient was a "slow learner".

9 Q. Was that significant, that fact, in your
10 rendering your opinion or in judging Dr. Davis' conduct?

11 A. Well, I think it was significant as was the
12 previous sentence which said was not very significant in
13 that they indicated that Dr. Davis did ask questions
14 related to the history, how the patient got there, why he
15 was there, what the patient's past history was like, as
16 opposed to evaluating a patient physically without asking
17 any historical questions.

18 Q. I get it. So you left out, for example, that
19 he had been out of work, and you put in instead that he
20 was a slow learner. If you wanted to convey the fact that
21 he asked questions, why did you not put both? Why did you
22 only put in the slow learner? You understand the point
23 I'm trying -- why did you select that out of that sentence
24 and not the other half of this sentence?

1 A. Right. I did select half of that. I think
2 we all look for points which tend to favor our positions
3 and that in doing so you take a synopsis of all the
4 material that you have. That's what I was attempting to
5 do.

6 Q. Were you attempting to find information in
7 this record to favor your position or support Dr. Davis'
8 conduct or to objectively look at these to make the
9 evaluation of whether or not the proper diagnosis was
10 made?

11 MR. SCHOBERT: Objection.

12 A. Trying to be as objective as possible. The
13 fact that the patient was out of work to me was not as
14 significant as the fact that he indicated to -- that he
15 had some sort of learning disorder or learning problem.

16 Q. Okay. Is that significant, the fact that he
17 had -- he was a slow learner, had a learning deficit in
18 terms of the obligation of the ER physician to go further
19 or look to other sources for information than the history?

20 A. I don't know whether that would be. It would
21 depend on the overall interpretation the physician would
22 have on the case. That would be different with every
23 patient.

24 Q. Going back to your report, you indicate

1 further history was not available from the patient. Does
2 that require the emergency room physician to attempt to
3 acquire a better history from family members or from other
4 people that may be around?

5 A. Good question. I think that is strictly the
6 emergency physician's judgment as to whether they need
7 more information in order to arrive at some sort of
8 working impression on what to do with the patient.

9 Q. Is it within the standard of care of an
10 emergency physician to be communicating with the nurses
11 working at the emergency room department to compare notes
12 to see if they were able to elicit additional history
13 other than what you as a physician may be able to elicit?

14 MR. SCHOBERT: Objection. Are you
15 talking about at Doctors Hospital or at the
16 same hospital? I wasn't sure if you meant --
17 you said emergency departments. Are you
18 talking about --

19 MR. TRACI; No. I am talking about at
20 an emergency department.

21 MR. SCHOBERT: Sorry, Bob. I'm not
22 trying to trick you. I want to know if
23 you said plural or singular. Go ahead,
24 Doctor.

1 A. It is within the standard of care in
2 emergency departments to utilize whatever information one
3 can get if you think you need it, and many times you ask
4 nurses to supplement or find out if they have information
5 that's helpful to you, and other times you do not because
6 you don't feel it will be helpful one way or another.

7 Q. Okay. And you would expect in the emergency
8 department nurses to be communicating with the physicians
9 as to any personal history that they may have obtained?

10 A. Yes.

11 Q. And would you as an emergency room
12 physician -- do you have an opinion as to the standard of
13 care required of an ER doctor in terms of reviewing the
14 records and the nurses' notes and findings on evaluation?

15 A. I believe that the standard of care requires
16 the emergency room physician to make a judgment as to how
17 detailed they want to get about evaluating the emergency
18 nurses' notes. Ideally if there is time, the emergency
19 physician would read a nurse's note before they go in and
20 see the patient. Sometimes that just isn't feasible or
21 reasonable or possible. Then you see the patient and then
22 make a secondary decision as to whether he needs more
23 information or additional information from the nurse.

24 Q. I am talking about -- let's be more specific

1 now. Let's talk about a patient who is in the kind of
2 condition that Mr. White was in when he came in,
3 designated as a slow learner, confused, those kinds of
4 circumstances. Is it not the standard care for a
5 physician to be checking with the nurse and/or reading the
6 nurse's notes to make sure that he has got as much
7 information as possible in history on that patient?

8 A. I would honestly have to say that that is
9 not -- there is no standard of care in that area.

10 Q. Do you intend to express any opinions
11 concerning the progress of the patient after he was
12 admitted to the hospital and got out of Dr. Davis' care?

13 A. Do not.

14 Q. Okay. If a patient is exposed to gas,
15 natural gas in a home --

16 A. All right.

17 Q. -- and a suspected suicide --

18 A. All right.

19 Q. -- what would that patient's symptoms be,
20 what would you expect them to be?

21 A. The most common presentation would be no
22 symptoms whatsoever.

23 Q. Does the length of time the patient is
24 exposed to gas and the concentration of the gas make any

1 difference in that answer?

2 A. Those are -- yes, certainly.

3 Q. Okay.

4 A. Because most of the time either the
5 concentration is lower or the length of time is short, so
6 there are no symptoms.

7 Q. If you would -- from your reading of the
8 notes the suspicion at the time that he was brought over
9 to Timken Hospital was that he had been in his apartment
10 for approximately four days, and they thought he was
11 trying to gas himself.

12 A. Correct.

13 Q. In fact, looking at Dr. Davis' notes, he was
14 barricaded in his apartment?

15 A. Yes.

16 Q. Okay. Under those circumstances a patient
17 who had had a long-term exposure to gas -- which is what
18 Dr. Davis should have suspected from the history that he
19 wrote down -- what types of symptomatology would you
20 expect a patient under those circumstances to have
21 exhibited?

22 MR. SCHOBERT: Objection.

23 A. I am not sure I understand the question
24 because in the middle of the question you said something

1 about Dr. Davis should have suspected something.

2 Q. Let me rephrase it.

3 A. I don't know what that meant.

4 Q. Dr. Davis, as you know from his deposition,
5 said that or claims that he was told by the emergency
6 room -- I am sorry, the EMS people that this man had tried
7 to gas himself.

8 A. Correct.

9 Q. He was barricaded in his apartment.

10 A. Correct.

11 Q. And somewhere in here it says for four
12 days -- but he was missing for four days. You knew that,
13 right?

14 A. Yes.

15 Q. Under those circumstances what would you have
16 expected the patient's symptoms to be if indeed it was
17 true that he was trying to gas himself under those
18 circumstances?

19 A. I guess under those circumstances I still
20 would not have an expectation of any specific symptoms
21 related to gas.

22 Q. Okay. As an emergency room physician if you
23 came in and had gotten the information that Dr. Davis
24 claimed to have gotten, is it not incumbent upon you as an

[] 1 emergency room physician to evaluate the patient for that
2 potential condition, that is, gas exposure?

3 A. Well, the treatment for natural gas
4 exposure --

5 Q. Not treatment. I'm just asking what should
6 be done to examine what impact this natural gas exposure
7 would have had upon him before we get into treatment.

8 A. Correct. I understand your question. I
9 don't know what would be done to examine a patient for
10 natural gas exposure.

11 Q. Are there any lab tests that would be helpful
12 in that regard?

13 A. Not that I am aware of.

14 Q. What's the treatment, if any?

15 A. The patient had already been treated because
16 he was removed from the environment.

17 Q. Can gas exposure make you disorientated
18 medically?

19 A. My answer to that is I don't know.

20 Q. How about can it make you confused?

21 A. I don't know that either.

22 Q. How about can it give you a headache?

23 A. I know that people with gas exposure can have
24 headaches, but I don't know if there has ever been a

1 causal relationship established, a statistically
2 significant one.

3 Q. We're in an enclosed room right now. If
4 somebody opened up a gas valve, started filling the room
5 up with gas, and the emergency group crashes in here,
6 pulls us out, and you are the emergency room doctor in ER
7 that's, you know, 500 -- not even 50 feet away from here,
8 my limp body is carried over to you from this gas
9 exposure, what would you do? What would you be looking
10 for? I mean what -- I guess if somebody tried to kill
11 himself with gas, I would expect the ER doctor to be doing
12 something. What would you do?

13 MR. SCHOBERT: Objection.

14 A. I think laymen expect that the emergency room
15 physician will do something. I hope that the emergency
16 physician would do what is appropriate in this scenario
17 you described. The first thing one would do would be to
18 inspect for vital signs and satisfy that you are breathing
19 on your own, you had a pulse, blood pressure.

20 Assuming that was true and knowing, I guess,
21 from the history and the way you described it that the
22 problem was unconsciousness secondary to gas exposure and
23 knowing it would be natural gas, one could assume then
24 that the gas had really taken the place of oxygen in the

1 room, so you would reverse that situation by supplying one
2 with oxygen, but that natural gas doesn't have to be on
3 for a long period for a patient to be unconscious. The
4 second thing is to look for other complications.

5 Q. Like what?

6 A. Well, a long period of unconsciousness would
7 be associated with complications like renal failure,
8 cardiac arrhythmia, pressure sores from lying in one place
9 for a long time. Really those would probably be the most
10 prevalent ones, assuming normal vital signs are conducted.

11 Q. Is it fair to say that the physical
12 examination done by Dr. Davis ruled out that this man was
13 having any continuing problems from actual gas exposure?

14 A. Yes, it's fair to say that.

15 Q. Okay. Is it the standard of care for an
16 emergency room physician to do a neurological examination
17 of a patient brought in --

18 A. No.

19 Q. What would determine the standard of care
20 when a patient ought to have a neurological examination?

21 A. If the emergency physician felt there was a
22 possibility of neurological problem.

23 Q. Okay. Is a mental status examination part of
24 the physical examination?

1 A. It certainly can be, but not routinely so.

2 Q. I mean -- okay. I am not suggesting that
3 every physical examination should have a mental status
4 examination. I am suggesting to you when you do a mental
5 status evaluation or examination, that is indeed part of
6 what you emergency room doctors call a physical
7 examination?

8 A. Yes, you are right.

9 Q. Okay. It's not a history; it's part of the
10 examination?

11 A. Correct. I got -- I misunderstood.

12 Q. It wasn't a very articulate question. Is
13 mental status a significant portion of a neurological
14 examination or evaluation?

15 A. Yes.

16 Q. Why is that true?

17 A. When you do a neurological examination, you
18 really are looking primarily for gross problems. By gross
19 problems, for example, would mean something -- the patient
20 is unconscious, is completely paralyzed, things relatively
21 obvious. It is also possible to look for disability in
22 what are called higher cortical functions, that is, the
23 thought processes. And in order to do that, one might
24 perform a mental status examination. The classical

1 approach or formal approach is not always -- I probably
2 shouldn't say that -- not even commonly used in the
3 emergency department.

4 Q. Is altered mental status a neurological
5 finding?

6 A. Yes.

7 Q. Are hallucinations normal?

8 A. No.

9 Q. You have in your report that a physical
10 examination was done by Dr. Davis.

11 MR. SCHOBERT: Are you looking at the
12 first one still?

13 MR. TRACI: Yes.

14 Q. Which showed no abnormal findings, correct?

15 A. Correct.

16 Q. And it included, you say most importantly, a
17 normal neurological examination with the exception of
18 patient's mental status?

19 A. Correct.

20 Q. So indeed it was not a normal neurological
21 examination, it was abnormal because the mental status was
22 abnormal, isn't that true?

23 A. That's a perfectly acceptable alternative way
24 of stating what I said.

1 Q. So Dr. Davis on his examination in the
2 emergency room did have abnormal findings, including an
3 abnormal neurological examination, correct?

4 A. Same answer.

5 Q. Agitation, what is that indicative of to you
6 as an emergency room physician?

7 A. Agitation can be indicative of a patient who
8 is anxious, patient who has severe medical problems or a
9 patient who has moderate or severe neurological problems.

10 Q. So it would be any of these?

11 A. Agitation is consistent with everything.

12 Q. Is it more consistent with organic medical
13 problems rather than a psychiatric problem or would it be
14 just as easily either one?

15 A. I don't know if it's consistent one way or
16 another.

17 Q. Okay. Well, couldn't --

18 A. Meaning not weighted way one or another, I'm
19 sorry.

20 Q. How about hearing voices?

21 A. Hearing voices is commonly associated with
22 psychological, mental problems.

23 Q. You say more commonly. Is it ever associated
24 with an organic or medical problem?

1 A. Yes.

2 Q. Altered mental status, is that more likely
3 organic or a functional disorder?

4 A. That once again is not 100 percent associated
5 with anything. I would -- when we use that, I think we
6 have information that there is a problem, but it doesn't
7 sway me one way once I know it's an organic problem or
8 mental problem, so I am not sure which one it is.

9 Q. Further in the report you say that the
10 patient had obvious behavioral problems, correct?

11 A. Correct.

12 Q. What are you referring to, obvious behavioral
13 problems?

14 A. The findings of the rescue squad in the
15 history regarding the suicidal behaviors the patient was
16 having.

17 Q. Is that all?

18 A. Well, the other behavioral problem relates
19 to, I think, the piece of information that I got from the
20 deposition which indicates that the patient would respond
21 to some things about history but did not respond to his
22 psychological or psychiatric history.

23 Q. Okay. Isn't it true that emergency room
24 physicians are required to collect information before you

1 come to conclusions? You should make your conclusions
2 with your evaluations of a patient?

3 A. I think I would agree with that.

4 Q. Okay. For example, there is a big difference
5 between a patient's inability to answer a question and a
6 patient's unwillingness to answer a question?

7 A. That's right.

8 Q. Okay. I think ability to answer a question
9 is more indicative of some serious problem rather than an
10 unwillingness, which would be more indicative of just
11 being contrary or perhaps a functional disorder; is that a
12 fair statement?

13 A. No. I don't think so.

14 Q. If you can make the distinction between
15 inability and unwillingness to answer a question, which of
16 those, as an emergency room physician, would you consider
17 to be the most significant?

18 A. Well, I think it depends on a particular
19 setting because you can construct scenarios with each one
20 being more important than the other, depending what the
21 scenario was, so unless I had it related specifically to a
22 case, I would really have some difficulty in deciding
23 which one I would pick.

24 Q. Do you have any idea what information Dr.

1 Davis had available to him that would allow him to
2 conclude that the patient knows he is in the hospital,
3 cannot tell me where he is and will not tell me what the
4 date is? What information do you know of that Dr. Davis
5 had that allowed you to make the statement that the
6 patient could not tell him where he was but actively would
7 not tell him what the date was?

8 MR. SCHOBERT: Objection. Go ahead,
9 Doctor.

10 A. Well, any of the information put on the chart
11 by this emergency room physician. There really is no
12 information that I have to verify that particular
13 information or to tell you what kinds of bits of
14 information he integrated into these sentences. That
15 would be true with any evaluation about any physician
16 anywhere.

17 Q. As written, this appears to suggest that this
18 patient was being difficult on certain subjects; is that
19 correct?

20 MR. SCHOBERT: Objection.

21 A. Not sure I would use difficult. It does
22 indicate that he was attempting to obtain some information
23 and did not get all the information he was attempting to
24 get. I cannot tell you how he would interpret it.

1 Q. Have you assumed in your opinion that Dr.
2 Davis knew or did not know of the history of three weeks
3 of severe headaches and dizziness?

4 A. I am assuming that Davis did not know that
5 the patient had a history of three weeks of severe
6 headaches and dizziness.

7 Q. Would that make a difference in any of your
8 opinions if that information was clearly in Dr. Davis'
9 dictation?

10 A. No.

11 Q. Is there a greater obligation in terms of
12 what an emergency room physician should do when a patient
13 presents with a history of severe headaches for three
14 weeks and that's all the information --

15 MR. SCHOBERT: That's all that's in the
16 record was severe headaches for three weeks?

17 MR. TRACI: Yeah.

18 MR. SCHOBERT: What's the obligation of
19 an ER doctor?

20 MR. TRACI: Yeah.

21 A. No, I don't think that history would change
22 the obligation.

23 MR. SCHOBERT: He's saying that's it,
24 that's all you get. I don't know what the

1 question would ask for.

2 Q. So if we could demonstrate that Dr. Davis
3 knew that this patient had complaints of headache and had
4 severe headaches for three weeks prior to -- two to three
5 weeks prior to his admission, which would obviously
6 predate when he was found in the bathtub, that information
7 would not be of any significance to you in terms of the
8 opinions you already rendered?

9 MR. SCHOBERT: Objection.

10 A. It would not unless -- I am not sure whether
11 you are saying this or not. I would agree if Dr. Davis
12 knew that this was the history and either deliberately
13 ignored or refused to write it down, then I think that's a
14 problem. I am not saying he has to write it. I am saying
15 that if he discounts that, that would be -- that would be
16 a problem, but that wouldn't change his obligation.

17 Q. The standard of care in this physical
18 examination here or history, us that if Dr. Davis knew of
19 severe headache for three weeks, that should be in the
20 history?

21 A. Yes, indeed. When you have that in the past
22 history, then it would be the standard of care to record
23 that, although we don't always record everything that we
24 hear and sometimes --

1 Q. I understand that. A history of severe
2 headache is something you always record when you hear it,
3 isn't that a fair statement, because that's a significant
4 symptom?

5 A. I would say that if a patient comes to me
6 with a history of severe headache, you should always
7 record it. I may not always record it as severe.

8 Q. Well, the standard of care is you should
9 record it because if someone is reviewing this chart or
10 then following the patient, then that is documented in the
11 chart what this patient's complaints are?

12 A. No question about that any more than any
13 significant history that you get. If it's significant,
14 you should write it down, if you know it's significant.

15 Q. That's what I was trying to get at before. A
16 severe headache is a significant symptom?

17 A. It might or might not be. I don't know. I
18 am saying any significant history --

19 Q. Well, Doctor, we're not talking about whether
20 it eventually could lead to nothing or eventually would
21 lead to something serious. What I am talking about is
22 before you do your investigation and know whether the
23 severe headache is going to be something serious or not to
24 the patient, that is a significant -- that is a piece of

1 information in the history that should be followed up on,
2 isn't that true?

3 A. Let me -- I am not sure -- let me just
4 explain it briefly. Many patients will come in and have a
5 sprained ankle or laceration of the foot, and you get to
6 talking to them, and during the course of the conversation
7 they are saying, "Not only am I a little bit nauseated,
8 but I got this terrible headache for two or three weeks."
9 And in that case they may not -- you may think it's a
10 significant problem in relation to the chief complaint.
11 If the patient is saying, "I have a severe headache for
12 three weeks," and that's the only symptom, in those that
13 are between those two extremes, it's a physicians judgment
14 as to whether that should be recorded or not.

15 Q. What about a patient who comes in and is
16 examined and is disorientated and confused and has
17 complaints of severe headache?

18 A. That's significant.

19 Q. That's a significant history?

20 A. Right.

21 Q. That should be recorded, correct?

22 A. Right.

23 Q. That's something that should be followed up
24 on and checked out, correct?

MR. SCHOBERT: Object.

A. I'm not sure who you are referring to when you mentioned that the patient should be followed up on, checked out.

Q. By the emergency room physician. We are always talking about the emergency room physician.

A. Okay. Yes. With the exception that there were -- I am a little concerned with the word follow-up. An emergency room physician doesn't take care of the in-patient, so it certainly wouldn't be the obligation of the emergency room to follow-up.

Q. Follow up in your --

A. We just take the complaints.

Q. Correct.

A. Okay. Yes, I agree.

Q. Is disorientation a neurological finding?

A. In reference to the fact that disorientation refers to not normal function of the central nervous system, yes.

Q. Well, that's significant, the disorientation, isn't it?

A. Yes.

Q. That's a medical term?

A. Right.

1 Q. How about is there -- strike that. There is
2 evidence in Dr. Davis' dictation that the patient was
3 disoriented; isn't that true?

4 A. I believe he said that specifically, but let
5 me look back on it. He doesn't use the word disoriented.
6 I think the patient is awake, knows he's in the hospital,
7 cannot tell me -- well, I can't read my copy. I am no --

8 MR. SCHOBERT: Where he is.

9 A. -- where he is, will not tell me what the
10 date is, cannot tell me where he is. It's one of the
11 parts of disorientation, so you are right. There is
12 evidence of that.

13 Q. Okay. In fact, you know from the deposition
14 he already indicated that the patient was disoriented?

15 A. Correct.

16 Q. Is disorientation coupled with confusion,
17 headaches something of significance in terms of
18 symptomatology to a patient that's present in the
19 emergency room?

20 A. It certainly can be.

21 Q. Is that something that the standard of care
22 would require a physician to check out?

23 A. Yes, it would.

24 Q. Are those symptoms consistent with among

1 other things -- there may be a whole constellation of
2 other things -- are those consistent with an organic brain
3 problem?

4 A. Yes.

5 Q. Are they consistent with a psychiatric
6 condition?

7 A. Yes.

8 Q. Are they consistent with a potential medical
9 problem?

10 A. Certainly.

11 Q. Okay. The emergency room physician, I
12 assume, is required to come up with a differential
13 diagnosis based upon the history and examination and their
14 background and training; isn't that true?

15 A. Well, I never read that the emergency room
16 physicians are required to come up with a differential
17 diagnosis. We certainly would be required to make some
18 decision about what the best disposition of a patient
19 would be and what any additional treatment might be.

20 Q. And in addition, if the patient's condition
21 is potentially permanently disabling, life threatening,
22 the emergency room physician is required to take some
23 action in the emergency room?

24 A. Depends.

1 Q. Isn't that true?

2 A. Yes, depending on the relative importance or
3 relative degree of threat of the permanent disability or
4 permanent life threat.

5 Q. Okay.

6 A. Maybe I didn't say that -- you understand
7 what I mean?

8 Q. Yes, I did. It's true, is it not, in terms
9 of how immediate a threat of potential organic brain
10 problem is is more in the field of a neurosurgeon or
11 neurologist than of an ER physician?

12 MR. SCHOBERT: Objection.

13 A. I would -- repeat that because I wanted to
14 think about that for a second.

15 MR. SCHOBERT: I wasn't clear on
16 that. Objection. I am confused.

17 MR. TRACI: Do me a favor and read that
18 back.

19 (Court Reporter read back
20 said question.)

21 Q. Do you understand that question?

22 MR. SCHOBERT: Note my objection.

23 A. Well, I think I understand it, and the answer
24 is sometimes.

11 ☐ 1 Q. Okay. Here, I am trying to make a
2 distinction here. In your opinion is it true that an
3 emergency room doctor is required to assess a problem, and
4 if it is life threatening or potentially permanently
5 disabling, then they are required to take some action on
6 it?

7 A. Yes.

8 Q. And the judgment on whether something is
9 immediately life threatening or not involves your making
10 decisions that if you are wrong, it could be very harmful
11 to a patient?

12 MR. SCHOBERT: You are talking about

13 ER?

14 MR. TRACI: ER, correct.

15 A. Correct.

16 Q. Does not the standard of care -- because of
17 the potential risk to a patient if the ER physician is
18 wrong, if there is a doubt one way or another on is there
19 an immediate problem that needs attention, that there
20 needs to be an additional work-up, doesn't that require
21 the ER physician to -- isn't that something that you
22 consult with a neurologist or neurosurgeon and/or take a
23 CAT scan to be sure you are ruling it out and not exposing
24 the patient to danger?

1 A. Absolutely not.

2 Q. Okay. That is strictly a matter of judgment
3 for the ER physician?

4 A. One hundred percent.

5 Q. And if he is wrong on that judgment, then the
6 patient just suffers the consequences of that misjudgment?

7 A. Absolutely right.

8 MR. SCHOBERT: Objection.

9 Q. The purpose of a CAT scan is to very
10 definitely rule in or rule out the presence of some
11 pathology in the brain, for example, if you do a CAT scan
12 of the head?

13 A. CAT scans are fairly good at ruling out some
14 kinds of pathology but certainly not all pathologies 100
15 percent of the time or not 100 percent of all pathologies.

16 Q. Is it true that a CAT scan is almost
17 certainly going to rule out any life -- immediately life
18 threatening cause of brain injury such as a bleed or a
19 tumor, things like that?

20 A. Most of the time that's true. The great
21 majority of the time that would be true.

22 Q. Some of the things you are talking about that
23 they might not always get, those things -- those are much
24 more sophisticated problems that would be more in the

1 field of a neurosurgeon to determine?

2 MR. SCHOBERT: Objection.

3 A. No, I am not sure that's true. I don't think
4 I could agree with that.

5 Q. Is severely increased motor activity a
6 neurological finding?

7 A. Yes.

8 Q. What's the significance of that?

9 A. Totally unknown. I have no idea what the
10 significance would be. It's different in every patient.

11 Q. Okay. I get the impression from listening to
12 you with your opinions and from your testimony that the
13 emergency room physician is supposed to be able to
14 differentiate between normal and abnormal finding and then
15 make some judgment whether or not those present any threat
16 to the patient and then decide whether they can be
17 released or be referred to someone else for appropriate
18 follow-up and treatment.

19 MR. SCHOBERT: Objection.

20 Q. Is that a fair summary of what you are
21 supposed to do?

22 A. Yes, with one exception.

23 Q. That would be when?

24 A. I think you have a degree of threat also to

1 be taken into consideration, so not just is there a threat
2 to the relative likelihood of a particular threat, because
3 in truth every single patient we see has a threat. For
4 instance, being run over by a truck, there is the threat
5 of having a heart attack no matter what their age is.
6 There is a degree one has to add to make a judgment as to
7 whether or not that is a reasonable consideration in the
8 particular patient.

9 Q. Okay. The degree of threat goes to whether
10 or not the ER physician should institute treatment rather
11 than referring the patient on --

12 MR. SCHOBERT: Objection.

13 Q. -- without treatment?

14 A. That's part of it. Also relates to where the
15 patient would go.

16 Q. Okay. Is rigid posture a neurological
17 finding?

18 A. I guess. I don't know. I am not -- I don't
19 know what that means. I am just not familiar with what
20 that means to any other physician.

21 Q. Is bizarre behavior a neurological finding?

22 A. Yes.

23 Q. Is poor recent and poor remote memory a
24 neurological finding?

1 A. Yes.

2 Q. ER physicians are supposed to guard against
3 jumping to conclusions, correct?

4 A. I am not aware of that that is a particular
5 requirement for an emergency physician as opposed to other
6 physicians or surgeons or any other person anywhere,
7 anyplace.

8 Q. Well, any physician should guard against
9 jumping to conclusions?

10 MR. SCHOBERT: Objection.

11 A. I would agree with that.

12 Q. For example, it was improper for Dr. Davis to
13 have jumped to the conclusion that this man was psychotic
14 until he had put all the constellations of symptoms, signs
15 together to see if there might be some explanation for
16 this patient's problems, isn't that true?

17 MR. SCHOBERT: Objection.

18 A. No, that's not true.

19 Q. He would be entitled to jump to the
20 conclusion that he was psychotic?

21 MR. SCHOBERT: Objection.

22 A. No, sir, not true.

23 Q. Well, tell me where I am wrong on that.

24 A. That's not true either. It's basically you

1 are giving me an all or none phenomenon. First of all,
2 in this particular case there is no evidence of that --
3 what I would call jumping to the conclusion. Jumping to a
4 conclusion would be a disposition of a patient without any
5 particular history or physical. When you do a history and
6 physical and then make a conclusion, that's not jumping to
7 a conclusion.

8 Q. Do you know anything about subdural
9 hematomas?

10 A. I have seen them. I don't know everything
11 about them. I know something about them.

12 Q. How many have you seen?

13 A. I couldn't tell you exactly. I imagine it's
14 been 15 or so.

15 Q. Okay. Is there a difference in the
16 symptomatology presented between acute and chronic
17 subdural hematoma?

18 A. Yes, quite a bit, as a matter of fact.

19 Q. Okay. What is the difference?

20 A. I think the primary difference in acute
21 subdural hematoma, the history of head injury is usually a
22 recent head injury problem, something that occurred
23 actually was the cause of a patient coming into the
24 emergency department. There also is a history of

1 relatively rapid neurological deterioration, which may or
2 may not have proceeded all the way to coma, but frequently
3 there are laterilizing signs that are neurological
4 deficits that are on one side of the body and not on both
5 sides of the body.

6 Q. Okay. Is that an all or nothing kind of
7 situation?

8 A. Never.

9 Q. When you say that there is a recent
10 indication of trauma typically in acute subdural -- do you
11 recall that you said that?

12 A. That's what I said.

13 Q. Okay. Are you limiting that to any
14 particular age group, or did you relate that to Richard
15 White specifically or the general population, typically
16 subdural hematoma related to trauma?

17 A. My comments were related to the general
18 population of any age.

19 Q. Okay. And what is there in the history or
20 information that Dr. Davis had available to him either
21 that you read in his deposition or in his records that
22 indicates that the patient did not have an acute head
23 trauma in this case?

24 MR. SCHOBERT: Did not have?

1 Q. Did not have an acute head trauma.

2 A. Well, there is no specific discussion in the
3 history of head trauma, and there is no specific findings
4 with regards to a problem in the -- that are visible on
5 the scalp, and there's no evidence of lateralizing signs.

6 Q. Okay. Well, put aside the symptomatology. I
7 am just talking about in terms of the history itself. Is
8 there any information that you have been able to glean
9 from any of the records or the deposition that Dr. Davis
10 was in good judgment able to rule out that there, in fact,
11 had been a recent trauma? How do you know he didn't fall
12 when he was in the bathtub and didn't hit his head on the
13 tub?

14 MR. SCHOBERT: Objection.

15 Q. How do you know that he hadn't been in an
16 automobile accident, you know, a half hour before he was
17 found?

18 MR. SCHOBERT: Objection.

19 A. From the records.

20 Q. From the records?

21 A. We don't know these things.

22 Q. What's there about the record that allows Dr.
23 Davis to conclude that there was not an acute trauma
24 involved in this case, if anything?

1 A. This patient didn't have any, once again,
2 history or physical findings that would be consistent with
3 acute trauma.

4 Q. Well, he had a -- what did you consider the
5 the onset of the acute episode?

6 MR. SCHOBERT: Of the chronic acute
7 subdural?

8 MR. TRACI: Of the problems of a
9 patient that's presented --

10 MR. SCHOBERT: In general?

11 MR. TRACI: In general.

12 MR. SCHOBERT: Okay. Just wanted to
13 make --

14 Q. What do you mean by acute?

15 A. Most of the time the reason for the patient's
16 presentation in the hospital emergency department would be
17 that particular trauma, so the chief complaint would be
18 trauma.

19 Q. Okay. Now, the man was found in a bathtub
20 and brought to Doctors and brought to Timken.

21 A. Correct.

22 Q. Okay. We don't know how long he was in that
23 bathtub, correct?

24 A. In terms of the exact number of minutes or

1 hours.

2 Q. Minutes or hours or days.

3 A. Well --

4 Q. I mean it could have been a week?

5 A. I don't think so.

6 Q. It's clearly -- that's not --

7 MR. SCHOBERT: Objection.

8 A. That's not possible from the findings here.

9 Q. Can you put some time period on how long he
10 was in the bathtub?

11 A. Sure. I think he was -- from the records, he
12 was in the bathtub probably less than four hours.
13 Probably less than two hours.

14 Q. Why do you say that?

15 A. Because changes in the skin occur from
16 constant submersion in the bathtub. If you are in the
17 bathtub with no water for hours or days, you are probably
18 going to have some pressure sores.

19 Q. Okay. Now, it is my understanding that, at
20 least from the records, that the cold water was running
21 when he was sitting in the bathtub.

22 A. Correct.

23 Q. There was no indication from anybody that the
24 water was running all over the floor or anything, so the

1 drain must have been out, the stopper out?

2 A. Correct.

3 Q. Was that a fair assumption from what you
4 read?

5 MR. SCHOBERT: Objection.

6 A. For -- no. The stopper could have been in
7 and the water turned on a moment before somebody was
8 there. We just don't know.

9 Q. It's your opinion that this man had been in
10 that bathtub for less than four hours?

11 A. No. I think I finally said less than two
12 hours.

13 Q. I am sorry. Less than -- I am sorry. Less
14 than two hours?

15 A. Yeah, yeah.

16 Q. When he was found?

17 A. With the water on. The skin changes when
18 it's been in water, so I thought it probably was for a
19 short time.

20 Q. Well, if he had been in the bathtub sitting
21 there as he was when he was found and I assume no water is
22 on, there would have been pressure sores?

23 A. If he was moving, probably not, but if you
24 were on the floor for several days, I would -- I can't

1 prove it because of the documents, but I think somebody
2 something about that he was incontinent of urine or there
3 was stool in his pants because he had been in that bathtub
4 for days, let's say.

5 Q. How about pressure sores?

6 A. If he hadn't moved, he certainly would have
7 those. For instance, if you were unconscious --

8 Q. Those are some things that the emergency room
9 physician should on physical examination have picked up
10 and noted?

11 A. Yes.

12 Q. Since they are not on there, you can safely
13 assume that Dr. Davis did the appropriate examination,
14 that he didn't have that kind of thing?

15 A. I agree.

16 Q. So we are now left with the situation based
17 on your opinion that the man was in that bathtub for less
18 than two hours, correct?

19 A. That's right.

20 Q. That's the standard of care you would expect
21 of Dr. Davis to glean from that same evidence, using the
22 same ability to conclude and put together the information?

23 A. I would think he would come to a similar
24 conclusion, although he may disagree with two hours. That

1 was an arbitrary decision on my part.

2 Q. Based on that circumstance, isn't the fact
3 that this man was found under these circumstances a sudden
4 acute change of his condition, meaning that all that
5 occurred that presented him to the emergency room had
6 happened within two hours, that's an acute situation,
7 isn't it?

8 MR. SCHOBERT: Objection.

9 A. What's acute about it is --

10 Q. Not subdural. I am talking about
11 presentation of this patient, the conclusion should be
12 there is some acute problem going on here to explain what
13 happened?

14 A. No, I don't follow that at all. I don't know
15 about the history of how long people had felt that he was
16 missing or not responsive. I don't know that he would
17 have -- that his behavior had been normal for quite some
18 time, because he was just found in this condition. I
19 can't make the conclusion that that presentation is
20 necessarily acute.

21 Q. Okay. Is there anything acute about this
22 guy's presentation?

23 A. I don't know that that's possible to tell
24 that.

1 Q. In terms of any analysis of physical findings
2 and examination and altered mental status, anything else,
3 is there anything that would indicate that this is an
4 acute situation?

5 A. No. I think that's why you do a history and
6 physical to try to get some hand on whether or not there
7 is an acute medical problem going on.

8 Q. I understand that, but given the history and
9 physical --

10 MR. SCHOBERT: From the history and
11 physical, is there any indication of acute
12 situation?

13 MR. TRACI: Yeah.

14 A. I am sorry. I misunderstood the question.
15 We originallly started with significant presentation.

16 MR. SCHOBERT: He has a habit of going
17 back and forth.

18 A. After his -- no, sir, there is no evidence to
19 me that it was an acute situation going on.

20 Q. Is there any indication in the physical and
21 in the examination and the history that indicates that
22 this man could have had a neurological problem?

23 A. Yes.

24 Q. He could have had an organic brain problem?

1 A. Yes.

2 Q. That could be a subdural hematoma?

3 A. Could be.

4 Q. Could be a brain tumor?

5 A. Could be.

6 Q. Could be an internal hemorrhage?

7 A. Internal other than a brain bleed.

8 Q. How about aortal risk?

9 A. Be no evidence whatever for that.

10 Q. Okay. Would you agree that a brain bleed or
11 brain tumor are life threatening situations?

12 A. No.

13 Q. Are they serious medical problems?

14 A. Yes.

15 Q. Can an acute subdural hematoma -- just so we
16 are clear on this, the difference between acute subdural
17 hematoma and chronic subdural hematoma is only the recency
18 of the bleed; is that correct?

19 A. As I understand it, yes, with one exception
20 is that most of the time if it's acute, you diagnose acute
21 subdural; that is, a patient that you had diagnosed it on,
22 and that means that you have some history. Obviously if
23 you have acute -- or a chronic, I am sorry, problem, there
24 was a particular moment in time when that problem started.

1 Q. And it was acute?

2 A. It was acute except that there either was not
3 the history that was obvious or there were not the
4 physical findings that were obvious, so the patient's
5 problem progressed and the bleeding progresses so slowly
6 that there is no change in the patient's behavior. There
7 is no immediate change in their neurological symptoms.
8 They may not be paralyzed on one side, so it's not obvious
9 when it starts.

10 Q. Acute subdural hematoma versus a chronic
11 subdural hematoma, either one can have generalized
12 neurological deficits, is that right, as opposed to focal
13 neurological deficits?

14 A. I would guess that that might be possible. I
15 would defer that question to a neurosurgeon.

16 Q. Well, you are making judgments in the
17 emergency room about the fact that there were no focal
18 neurological deficits, therefore he didn't have any
19 obligation to diagnose a subdural hematoma. I would
20 assume that if you are able to make those kinds of
21 judgments and statements, you ought to know whether or not
22 acute versus chronic subdural hematoma is a neurological
23 deficit rather than focal?

24 A. But the key to your question is am I 100

1 percent.

2 Q. No, I don't -- no, no. It means more than 50
3 percent is more likely than not.

4 A. I can answer that.

5 Q. More likely than not?

6 A. No more than that, no. I would see focal
7 generalized deficits with acute.

8 Q. Than with chronic?

9 A. With chronic, I just mentioned what --

10 Q. Is it also true that acute subdural hematoma
11 cannot have focal neurological deficits, but only have
12 generalized type of deficits such as disorientation,
13 confusion, altered mental status, things like that?

14 A. You are referring in this question to acute?

15 Q. Yes.

16 MR. SCHOBERT: Acute?

17 MR. TRACI: Acute.

18 MR. SCHOBERT: I thought you said
19 chronic.

20 MR. TRACI: No, no, acute.

21 MR. SCHOBERT: Can you read the
22 question back. I am sorry.

23 Q. Let me restate it. Isn't it true that acute
24 subdural hematoma can present without focal neurological

[] 1 deficits?

2 A. This time you are saying can I say is it
3 possible --

4 Q. Yes.

5 A. -- or more or less than that? Possible?
6 Yes, it's possible.

7 Q. Is that something that an emergency room
8 physician should know about, that it's possible that you
9 could have acute subdural hematoma even without a focal
10 neurological deficit?

11 A. Yes, I think an emergency room physician
12 should know that.

13 Q. When a patient appears at the emergency room
14 and does have an altered mental status, has disorientation,
15 has severely increased motor activity, has agitation,
16 complains of headache, given those types of symptoms, is
17 it not true that an emergency room physician should
18 consider the possibility of a brain bleed as a possible
19 explanation for that constellation of symptoms?

20 A. If those constellation of symptoms appear in
21 isolation from all other symptoms and all other things,
22 then I think you should be considered as a possibility for
23 brain bleed.

24 Q. In this case, in the context of all the

1 history and everything, with those same symptoms, should
2 an emergency room physician consider the possibility of a
3 brain bleed?

4 A. Yes. Looking at a patient like this with
5 those symptoms, that would be one of the possibilities for
6 that constellation of symptoms.

7 Q. And one of the possibilities would be some
8 type of psychiatric disorder?

9 A. Correct.

10 Q. Okay. Of the two, which is more likely to be
11 either a life threatening or permanent disabling
12 situation, a brain bleed or psychiatric disorders?

13 A. Well, I can't tell you for sure. It seems to
14 me if a patient is suicidal and has some history of
15 suicidal problems, it seems more likely to me a
16 psychiatric problem would be more life threatening than
17 the non-acute bleed.

18 Q. Okay. I am talking about a patient who is
19 going to be -- who is in the custody of people at a
20 hospital, who is going to be kept in the hospital.

21 A. Correct.

22 Q. Is just goes to say he isn't going to commit
23 suicide in the hospital?

24 A. I wish I could guarantee that, but depending

1 on what he's admitted to --

2 MR. SCHOBERT: I am going to object
3 to the question. That assumes a whole lot of
4 stuff.

5 Q. Should Dr. Davis have ordered a CAT scan?

6 A. I think not.

7 Q. Even though you acknowledge the fact that
8 this could be a brain bleed based on the findings
9 contained in the record, he should have not ordered a CAT
10 scan to rule in or rule out a brain bleed?

11 A. They certainly don't have to be done in the
12 emergency department.

13 Q. Would it be good practice to do that?

14 A. I don't think I would have objected from a
15 clinical standpoint, although I think he would have to
16 justify it, and I see not much of a justification for a
17 CAT scan.

18 Q. What would he have to justify?

19 A. Well, any time you do tests and anybody does
20 a test, you have to have a reasonable reason to do so on
21 an acute basis, and you need to have justification for
22 doing that test.

23 Q. Is there any more expense in doing a CAT scan
24 if you ordered it as an ER physician or if a psychiatrist

1 orders it?

2 A. I think the technical answer is it might be,
3 but the practical answer is that's not relevant.

4 MR. SCHOBERT: He never said expense.

5 Q. You have to -- you said there had to be a
6 justification for it.

7 A. Why you should do the test. Just to do
8 tests, you should have good reason to do that in this
9 particular case.

10 Q. This patient. I had asked and you said
11 a CAT scan --

12 MR. SCHOBERT: You meant in terms of
13 immediate?

14 THE WITNESS: Right.

15 Q. Now, you are talking about the same thing?

16 MR. SCHOBERT: You interjected expense
17 in.

18 MR. TRACI: He said there had to be a
19 justification.

20 MR. SCHOBERT: He didn't say expense.

21 MR. TRACI: Okay. Well --

22 MR. SCHOBERT: He said reasonable
23 justification. You never asked him what
24 reasonable justification is or the basis

1 would be.

2 Q. What is the reasonable justification and
3 basis for a CAT scan?

4 A. You are asking me a question? I am sorry.

5 Q. What is the reasonable basis and
6 justification for doing a CAT scan?

7 MR. SCHOBERT: In this case?

8 A. In any case or justification in general?

9 Q. Yeah.

10 A. I think when the emergency room physician
11 suspected there is an acute neurological problem, there is
12 the likelihood of it being detected with CAT scan, which
13 can be acutely life threatening and which may need
14 intervention within a short time period, then you just do
15 a CAT scan.

16 Q. Is the emergency room physician qualified to
17 diagnose acute subdural hematoma?

18 A. Yes.

19 Q. Are they qualified to diagnose a chronic
20 subdural hematoma?

21 A. I think so, yes.

22 Q. Okay. And is it your opinion that acute
23 subdural hematomas are immediately life threatening?

24 A. They can be. They often are not, but they

1 certainly can be.

2 Q. If an emergency room physician does suspect
3 acute subdural hematoma, he should order -- under the
4 standard of care he should order a CAT scan?

5 A. Yes.

6 Q. Why is that?

7 A. Well, the possibility of acute subdural
8 hematoma is that there is bleeding that will continue, and
9 there would be so much pressure on the brain that the
10 patient will die.

11 Q. In a chronic subdural hematoma, is it the
12 standard of care to order a CAT scan if the physician
13 suspects there is a chronic subdural hematoma?

14 A. I don't believe there is in emergency
15 medicine at all, which specialty I am --

16 Q. For any doctor?

17 MR. SCHOBERT: Object.

18 MS. MINKLER: Object.

19 MR. SCHOBERT: He qualified earlier --

20 Q. You are an M.D., are you not?

21 A. Yes, sir.

22 Q. You went to medical school and you studied
23 subdural hematomas and CAT scans and all kinds of stuff,
24 maybe not CAT scans because they weren't in existence

1 then.

2 A. I was just going to say that I wish I was
3 that young.

4 Q. You studied all kinds of problems with
5 reference to the brain and what the impact of bleeding in
6 the brain would have upon a person?

7 A. Yes.

8 Q. And that's something that you went over in
9 much greater detail as an emergency room physician?

10 A. Right.

11 Q. Because of the constant exposure to trauma
12 that you have down there?

13 A. Right.

14 Q. So speaking as a physician, an M.D. trained
15 in medicine having gone to medical school, on chronic
16 subdural hematomas, if you suspect that specific problem,
17 is it not true that the standard of care is to order a CAT
18 scan so that you can find out how large or how life
19 threatening this subdural hematoma is?

20 MS. MINKLER: Objection.

21 MS. MOORE CARULAS: Objection.

22 A. That's not true.

23 Q. Okay. That depends on the findings and
24 physical examination, correct?

1 A. That will help you determine how life
2 threatening it may be.

3 Q. Chronic subdural hematoma, are you suggesting
4 that there was a bleed that was completed and that the
5 condition is a static condition in the head?

6 MR. SCHOBERT: In this case?

7 Q. A chronic subdural hematoma, what is your
8 definition of that?

9 A. My definition is that there is one of two
10 possibilities, either there is a bleed which is completed
11 and the condition is static, or there is a bleed which is
12 ongoing, but it's a rate that is very, very, very slow.

13 Q. Or is there not a condition where you have a
14 chronic subdural hematoma and it continues to bleed at a
15 faster rate?

16 A. Well, I would then say acute subdural
17 hematoma superimposed on to chronic if I were asked to
18 define that one.

19 Q. Is there a difference in the standard of care
20 how you treat a chronic subdural with a superimposed acute
21 hematoma on top of it?

22 MS. MINKLER: Objection.

23 A. Acute bleed on top of that?

24 MS. MOORE CARULAS: Objection.

1 A. Well, when you say treat --

2 Q. Let me restate it.

3 MR. SCHOBERT: Yeah.

4 Q. Is there a difference in the standard of care
5 of what an ER physician is supposed to do with reference
6 to ordering or testing or further follow-up when there is
7 a chronic subdural hematoma that had previously leveled
8 off in terms of any findings that is now acutely bleeding
9 again?

10 MR. SCHOBERT: Assume he knows the --
11 that the ER physician knows all of that.

12 A. Well, I guess I don't know how to answer that
13 question because you don't know if it's acute bleeding
14 again unless you do the test.

15 Q. I understand. And is it not a dangerous
16 condition for a patient just the same as a new acute bleed
17 would be?

18 MS. MOORE CARULAS: Objection.

19 Q. That is a chronic that is -- re-bleeding is
20 in essence the same thing as acute bleeding because it has
21 now added extra volume and more displacement to the brain;
22 isn't that a fair statement, medically?

23 MR. SCHOBERT: Objection.

24 MS. MINKLER: Objection.

1 MS. MOORE CARULAS: Objection.

2 A. I am -- I don't know because I don't know the
3 element of extra volume in the new blood. Extra volume
4 that is miniscule may not be as bad as if the volume were
5 large.

6 Q. Okay. But those are conclusions after the
7 fact. I am talking about when you are presented with the
8 problem by the patient, number one, there is an acute
9 bleed, you don't know what the volume of bleed is when you
10 examine the patient?

11 A. Correct.

12 MR. SCHOBERT: Objection.

13 Q. You have no way of knowing without some
14 diagnostic test?

15 A. Well, that's not true.

16 Q. How do you know?

17 A. Let's say I examine the patient, the findings
18 are completely normal. There is no headaches. There is
19 no -- blood pressure, neurological examination all is
20 normal, and as you just propose, there is a bleed, given
21 that circumstance I would propose that the bleed would be
22 very small since it's not producing any symptoms. Give me
23 blood with an unconscious patient, it's going to be a
24 larger bleed.

1 Q. In the first circumstances, to be fair, you
2 wouldn't diagnose a subdural hematoma because there are no
3 symptoms, correct?

4 MR. SCHOBERT: Object. You are arguing
5 with him. You are --

6 A. Certainly would get the diagnosis of subdural
7 hematoma without symptoms.

8 MR. SCHOBERT: Object.

9 Q. Let's start from the beginning, okay? There
10 is a situation where a physician is presented with
11 whatever the man's symptoms are and it can be safely
12 diagnosed as acute subdural, okay, minimal. You
13 understand what I am saying?

14 A. Well, not -- rephrase. You can't make a
15 presumptive diagnosis until you do the testing. The
16 judgment of the emergency room physician is whether tests
17 are necessary for the diagnosis or not.

18 Q. Okay.

19 A. Not whether the die flows there.

20 Q. When you make those judgments you have -- you
21 have been taking into consideration that if that judgment
22 is wrong, the patient could have a very serious brain
23 injury or death from it?

24 MR. SCHOBERT: Objection. Asked and

1 answered.

2 Q. Correct?

3 A. Certainly.

4 Q. Okay. Under those circumstances is it not
5 the standard of care to send the patient down for a CAT
6 scan when the alternative may be his death or serious
7 brain injury?

8 MR. SCHOBERT: Objection.

9 Q. That's the question.

10 A. The answer is no.

11 Q. Why not?

12 A. Because there is a relative likelihood -- we
13 have already been over that -- when the likelihood is low
14 and when it's more likely than not in your judgment the
15 patient has a psychiatric versus organic, it is not the
16 standard of care to go after the most miniscule things
17 even if the potential outcome is death.

18 Q. If a subdural hematoma is on the differential
19 diagnosis of a physican as would --

20 MR. SCHOBERT: ER physician?

21 MR. TRACI: Yeah. That's all ER.

22 MR. SCHOBERT: Well, I notice you've
23 been skipping back and forth. I just wanted
24 to make sure the record is clear.

1 A. All right.

2 Q. Do you agree Dr. Davis should have considered
3 the possibility of a subdural hematoma from the signs that
4 he had in this examination?

5 A. No. I think the possibility of organic brain
6 problem would be about as limited as he could get with
7 this history and physical.

8 Q. Okay. So you agree that he should have
9 considered the possibility of an organic brain problem?

10 A. Possibly, yes.

11 Q. In this patient?

12 A. Yes.

13 Q. And should he have considered the possibility
14 of a psychiatric problem?

15 A. Correct.

16 Q. Should he have considered the possibility of
17 a medical problem here in this case other than an organic
18 brain problem, meaning some mental disorder?

19 A. Or certainly some metabolic disorder.

20 Q. I am just separating out all the medical --

21 A. I understand. I am with you.

22 Q. Okay. Based on the fact that there is
23 sufficient symptoms present that would require Dr. Davis
24 to consider the possibility of organic brain syndrome, is

1 it nevertheless your opinion that he did not have to do
2 anything to act on that, even though an organic brain
3 problem could have been life threatening?

4 MR. SCHOBERT: Objection.

5 Q. Is that correct?

6 A. I think that's what I am telling you, yes.

7 MR. SCHOBERT: When you say acted upon
8 it --

9 MR. TRACI: He has answered the
10 question.

11 Q. Isn't it true that these symptoms at that
12 time that Dr. Davis examined Mr. White -- well, strike
13 that.

14 What do you consider an organic brain
15 problem? Would a brain tumor be one?

16 A. Yes.

17 Q. Would a chronic subdural hematoma be one?

18 A. Yes.

19 Q. Could an subarachnoid hemorrhage be one?

20 A. Yes.

21 Q. Anything else?

22 A. Degenerative neurological diseases would all
23 come under that.

24 Q. Okay. And once you as an emergency room

1 physician determine that it could be an organic brain
2 problem, the standard of care at that time is to either
3 order a CAT scan, MRI, if you believe it's immediately
4 life threatening; is that right?

5 MR. SCHOBERT: Objection.

6 A. No.

7 Q. Tell me what the standard of care requires of
8 an emergency room physician that believes a patient may
9 have an organic brain problem, what are you supposed to
10 do?

11 A. Supposed to try to make a decision as to what
12 organic brain syndrome he might have.

13 Q. Supposed to go further and clarify what in
14 that category it could be?

15 A. If that's what you thought the patient had.
16 You presented me with a patient who had organic brain
17 syndrome. I am telling you that presented with that
18 particular patient, that it would be appropriate before
19 you went ahead and tested to get some idea of what kind of
20 syndrome it might be. For instance, if it's Alzheimer's
21 disease, you may approach it differently from a gun shot
22 wound to the head.

23 Q. Okay. In this case -- you just told me a
24 moment ago that you thought that Dr. Davis could have

1 diagnosed or should have considered in this case an
2 organic brain syndrome and you wanted to go more in
3 general with it rather than to the subdural hematoma.

4 MR. SCHOBERT: Objection. That's not
5 what he said.

6 Q. Is that not what you said?

7 A. I remember we discussed that. I don't
8 remember my exact words.

9 Q. Could Dr. Davis have diagnosed acute subdural
10 hematoma here?

11 A. Was it possible for him to diagnose --

12 Q. Yeah.

13 A. Based on the symptoms, no.

14 Q. How about chronic subdural hematoma?

15 A. Well, he could have diagnosed either one. I
16 don't see the evidence for that, but could he have written
17 that down? Sure.

18 Q. Once the emergency room physician believes he
19 has -- must have enough evidence to make a diagnosis of
20 some type of organic brain problem, isn't it true that the
21 only way to rule in or rule out which one it is and how
22 life threatening it is, is to run the diagnostic tests or
23 to bring in a neurologist or neurosurgeon to more
24 specifically clarify the diagnosis?

1 A. I don't know that bringing in a neurologist
2 would help you determine the -- in determining the
3 acuteness of life threat, but it would be appropriate
4 to -- if you thought you had an organic syndrome, it would
5 be appropriate for a referral like that if you thought it
6 was not acute.

7 Q. What I would like to find out -- I mean other
8 than the fact that you just say it's up to the judgment of
9 the ER physician, is there any standard at all in terms of
10 what you are supposed to do in making your judgment in
11 whether it's acute or not?

12 A. Certainly.

13 MR. SCHOBERT: You never asked him --

14 A. The emergency physician has an obligation --

15 Q. What is that?

16 A. -- to a department to decide the acuteness of
17 a patient's problem, first of all, how serious is the
18 threat to life or limb of what the particular patient has.
19 Once that determination is made, then the next obligation
20 is to decide disposition on that particular patient. The
21 disposition can range from being discharged to home
22 without follow-up to being admitted to the intensive care
23 unit or to the operating room; so to review, the
24 disposition is the the relative acuteness of the problem,

1 and the secondary decision is to the disposition of the
2 patient.

3 Q. If a chronic subdural hematoma -- strike
4 that. On your May 15 report you have down that the normal
5 approach after Doctors Hospital had examined him would be
6 for them to contact Doctors Hospital to talk to the
7 psychiatrist for direct admission. See that sentence?

8 A. Yeah. I think what I said was for the
9 emergency physician from Doctors Hospital would be normal.

10 Q. Dr. Jeun?

11 A. To contact the psychiatrist.

12 Q. Where did you get that information from in
13 this case? What information do you have that --

14 A. The normal approach for that kind of
15 referral, a psychiatric referral from Hospital A to
16 Hospital B in a normal approach would be for the emergency
17 physician to contact a psychiatrist for admission.

18 Q. Okay. Well, the normal approach in this case
19 is apparently for the ER at Timken to do the evaluation,
20 no matter who referred the patient. Are you aware of
21 that?

22 A. Yeah.

23 Q. You are aware of that?

24 A. That's fine.

1 Q. The ER physician, regardless of who else
2 evaluated this patient, is required to make their own
3 assessment and evaluation of a patient; is that correct?

4 A. That seems to be their policy.

5 Q. That is indeed the standard of care, the ER
6 department is -- if you are examining the patient, you are
7 supposed to do that examination yourself, correct,
8 evaluate the patient yourself?

9 MR. SCHOBERT: Objection.

10 A. As opposed to -- I don't understand.

11 Q. Isn't it true for any physician, an ER
12 physician or any other physician, that when you do an
13 evaluation of a patient, you are supposed to do your own
14 evaluation of the patient and come up with your own
15 diagnosis and assessment?

16 A. Correct.

17 Q. You are not supposed to be relying on what
18 some other prior physician has done, even though you could
19 use that piece of information in your own assessment?

20 MS. MINKLER: Objection.

21 A. Correct.

22 Q. You should not conclude anything because
23 someone else's evaluation may be organic or psychiatric or
24 anything else; is that correct?

1 MS. MINKLER: Objection.

2 A. You are completely right. You need your own
3 information. You may make a preliminary conclusion, but
4 you need to add in your own.

5 Q. Whether or not Doctors Hospital did or did
6 not diagnose an organic versus psychiatric condition does
7 not relieve Dr. Davis of his own responsibility to make
8 the appropriate diagnosis; is that true?

9 A. Can I explain what I was saying because --

10 Q. Just talking about the --

11 MR. SCHOBERT: Just answer.

12 Q. It's a different question.

13 A. All right. Reword the question.

14 Q. Whether or not anyone else did or did not
15 diagnose organic versus a psychiatric condition, Dr. Davis
16 has the independent obligation to make his own diagnosis
17 and assessment?

18 A. Because he has seen that patient.

19 Q. Correct?

20 A. I agree.

21 Q. So if Doctors Hospital was right or wrong in
22 their diagnosis, that does not relieve Dr. Davis of his
23 standard of care in making his own evaluation and
24 judgment, correct?

1 A. In this case it did not, right.

2 Q. So what Doctors Hospital did to that extent
3 in their judgment or assessment or examination is
4 irrelevant to Dr. Davis' standard of care?

5 A. I agree completely.

6 Q. Okay. When you say in your report that in
7 your view the second evaluation at Timken exceeded the
8 standard of care, that opinion would be changed, would it
9 not, if you knew that the standard -- strike that.

10 In making that statement that it exceeded the
11 standard of care, you were assuming that the normal
12 practice was for Doctors Hospital to contact the
13 psychiatrist directly?

14 A. Could have been, but you are -- I think we
15 agree. I understand that. I think what you are saying --

16 Q. The fact that Davis examined him did not
17 exceed the standard of care?

18 A. That's right.

19 Q. So that part of your opinion just is from an
20 assumption that doesn't apply to this case in terms of
21 what the procedure --

22 A. I think you are right.

23 Q. As to what the procedure is?

24 A. I think you're right.

1 Q. Okay. At one point you also have that Dr.
2 Davis' history supports suicidal type ideation?

3 A. Right.

4 Q. Meaning gas on in stove or oven?

5 A. Right.

6 Q. First of all, again it doesn't say that the
7 gas was emanating into the room. All it says was on in
8 the stove, correct?

9 A. Right.

10 Q. And indeed that could be a suicidal type
11 action?

12 A. Right.

13 Q. Wouldn't it?

14 A. I mean those are two different things. One
15 assumes that if you have suicidal action, whether it's
16 pointing a gun at your head or slashing your wrists. If
17 it's ideation, wouldn't have that action.

18 Q. Okay. But isn't it true that as a physician
19 referring to those -- you are making those distinctions
20 between suicidal ideation and suicidal action because
21 those mean two different things in terms of the patient's
22 potential problem or diagnosis?

23 A. I think -- yes, I think what we mean is if
24 there is action, we take it a little more seriously than

1 just the ideation. The ideation should be taken seriously
2 too.

3 Q. You have then suicidal activities are rare in
4 psychosis. Is that true?

5 A. No, I don't have that. What I have is
6 "suicidal activity are rare is psychosis." That is a
7 completely meaningless phrase, which I have reviewed prior
8 to this, and I'm trying to think of what that meant. I am
9 almost certain what I did was stop in the middle of the
10 sentence and rewrite it, only my secretary -- either I
11 enunciate it or she didn't pick it up. It's meaningless,
12 and what I -- I have no idea what the rest of the phrase
13 is.

14 Q. It isn't suicide activities are rare in
15 psychosis?

16 A. No.

17 Q. Are they common in psychosis?

18 A. Common being greater than 50 percent, I don't
19 know the answer to that.

20 Q. You don't have any --

21 A. They are common.

22 Q. That is not your recollection of what you
23 intended to say in that sentence?

24 A. No. I guess it's a sentence because it ends

1 in a period. It's meaningless to me.

2 Q. That is not a typo with I-S that should be
3 I-N?

4 A. It's an aborted thought.

5 Q. Well, you go on and say, "I should also say
6 it is relatively rare organic brain disease." First of
7 all, is that true, suicidal activity is relatively rare
8 regarding organic brain disease?

9 A. Yes.

10 Q. When you take those two sentences together,
11 it seems as if they flow right into each other in a
12 logical way.

13 A. I agree it does, but I'm telling you I
14 reviewed that, and it makes no sense whatever to me
15 because it just isn't true. I have no idea. It's a typo.
16 I apologize, but I guess I am human. My secretary is
17 human. Suicidal activities are more common in psychosis
18 than they are in organic brain disease.

19 Q. And you referred to the impression from
20 Doctors Hospital that this patient had a psychiatric
21 illness?

22 A. Yes, I did.

23 Q. From where did you get that? From the ER at
24 Doctors Hospital?

1 A. Yes.

2 Q. Is this something that was known to Dr.
3 Davis?

4 MR. SCHOBERT: Objection.

5 A. I have to tell you right now I don't remember
6 what he said in his deposition about memory of the Doctors
7 Hospital information, so I can't comment on it now.

8 Q. You have down here additional nurse reported
9 hallucinations, correct? That's in Dr. Davis' dictation,
10 correct?

11 A. Yes.

12 Q. They are a more active psychosis than organic
13 brain disease?

14 A. Right.

15 Q. Do you have any explanation why the
16 hallucination is mentioned here, but from the same
17 psychiatric triage nurses notes his headache and dizziness
18 is not?

19 MR. SCHOBERT: Objection.

20 A. Sorry. Are you talking about in my report
21 or --

22 Q. Why is it that Dr. Davis would have only
23 written down hallucinations rather than headache and
24 dizziness when he is referring to the same psychiatric

1 triage nurse and the same notes?

2 MR. SCHOBERT: Objection.

3 A. No, I can't explain.

4 Q. Doesn't that give you an indication that Dr.
5 Davis was picking and choosing to fit the conclusion he
6 had come to in that psychiatric test, organic brain
7 syndrome?

8 A. Not at all, no.

9 Q. Does it raise that question in your mind at
10 all?

11 A. No.

12 Q. Is that because he says he didn't do that?

13 A. No.

14 Q. Is it the emergency room's duty to separate
15 acute from a chronic subdural hematoma?

16 A. Emergency physician's duty?

17 Q. Yeah.

18 A. Emergency physician's duties to separate
19 acute from chronic given a patient with a subdural, it
20 would be the emergency physician's duty to try and
21 separate acute and chronic.

22 Q. You have down on the bottom of the May 15
23 report, last line, in justifying the conduct of the
24 emergency room physician, you say you base that on the

1 fact that, "There were no abnormalities of the vital signs
2 or of the physical examination that would indicate an
3 acute or organic brain problem"; isn't that true?

4 MR. SCHOBERT: Wait a second. Where
5 are you at?

6 MR. TRACI: Last line, the May 15
7 report.

8 MR. SCHOBERT: I am sorry. I looked
9 right at it. Go ahead. Sorry. Excuse me.

10 Q. Correct, Doctor?

11 A. Yes.

12 Q. "Specifically the neurological examination
13 was grossly normal"?

14 A. Right.

15 Q. In fact, based on your prior testimony today
16 that's not true, it's not grossly normal? It was not
17 gross -- he had the altered mental status. He was
18 disoriented. He was severely agitated, motor activity
19 increased, all those things, so that statement in there is
20 not accurate at this time; isn't that true?

21 A. The motor neurological or I guess I should
22 say I have already indicated the altered mental status was
23 abnormal.

24 Q. That's the neurological examination?

1 A. That's part of the neurological examination.

2 Q. That's a significant part of the neurological
3 evaluation when you are talking about organic brain
4 syndrome, aren't you?

5 A. It's a significant part of the neurological
6 evaluation if you were talking about organic brain
7 syndrome.

8 Q. An emergency room physician can't just do a
9 neurological exam because that's only really looking for
10 spinal cord type of injuries or things like that. That
11 could more likely be that those would be symptoms of motor
12 deficits?

13 A. That's right, yes, sir. You have to do both.

14 Q. And specifically your statement in terms of
15 neurological examination, you put in there as grossly
16 normal when he clearly had an altered mental.

17 A. I think I explained that in the next sentence
18 which says no paralysis was detected. And I was -- I also
19 said the patient was responsive to questioning and he's
20 aroused. There is also no history of sudden or
21 precipitating changes in the patient's behavior pattern.

22 Q. Okay. First of all --

23 A. That explained, I think, what I meant by
24 grossly normal.

1 Q. First of all, the patient was responsive to
2 questioning, and in fact Dr. Davis, as you had testified
3 earlier, said that he wasn't responsive to questions, he
4 wouldn't respond about his psychiatric history, he
5 wouldn't tell them what day it was. Which is it, he was
6 either responsive to questioning or he wasn't.

7 MR. SCHOBERT: Objection.

8 A. Well --

9 Q. What are you relying on?

10 A. I think he was responsive to questioning. If
11 I asked a patient a question and the patient says, "I am
12 not going to answer," that is a response. Not
13 responsiveness refers to just absence of any verbal
14 interaction.

15 Q. That is what the doctor indicated. The
16 doctor indicated, I believe, in his testimony that the
17 patient would not answer any question about psychiatric
18 care. He didn't say, "I'm simply not going to tell you."

19 MR. SCHOBERT: Objection.

20 Q. Have you concluded that's what he said?

21 A. My conclusion is there was verbal
22 interaction, that he was satisfied that the patient would
23 not answer the question, but not that the patient remained
24 completely silent to questions.

1 Q. You indicated a moment ago that you believe
2 based on all the information this patient had been in the
3 bathtub for a couple of hours?

4 MR. SCHOBERT: Objection, that's not --

5 Q. Or in that house exposed to the condition for
6 a couple of hours, whatever was going on.

7 A. I said that if you were under water, he would
8 be exposed to water, and that is less than a couple of
9 hours.

10 MR. SCHOBERT: I withdraw the objection
11 because he qualified my reason for my
12 objection.

13 Q. Based upon your answer to those questions
14 previously asked -- I don't want to get into all those
15 again -- let me clarify that point which in your opinion
16 was, I believe -- is it still your opinion that there was
17 no history of a sudden or precipitating change in the
18 patient's behavior pattern that Dr. Davis should have
19 concluded at the time of the emergency room visit?

20 A. Yes, it's still my opinion.

21 Q. Both acute and chronic subdural hematoma can
22 be detectable by CAT scan, correct?

23 A. Yes.

24 Q. And all it takes for the emergency room

1 physician, according to your report, is if there is a
2 suspicion of acute problem, then he should order an CAT
3 scan; is that right?

4 A. It says these tests. I think I referred to
5 CAT scans --

6 Q. Right.

7 A. -- are ordered, there is a suspicion of acute
8 problem within the brain that can be detectable by use of
9 the CAT scan.

10 Q. Okay. So all it takes is a suspicion by the
11 physician there can be acute problem in order to order a
12 CAT scan?

13 A. In terms as I said before -- you're taking my
14 sentence out of context and interpreting it literally.
15 That would be any suspicion, but if you apply that to the
16 statements I already made about relative risk, then
17 certainly it would not. When suspicion is solo, it would
18 be ridiculous to order that.

19 Q. If an emergency room physician determines
20 that this patient may have an organic brain problem, it is
21 your opinion that it may be appropriate under the
22 circumstances to refer that patient to some other
23 physician, either outpatient family doctor or attending
24 physician in the hospital, to follow up with whatever

1 neurological testing or diagnosis must be made?

2 A. Correct.

3 Q. And is it the standard of care for the
4 emergency room physician, knowing the fact that this
5 involves a potential of damage to the brain, to convey
6 specifically that concern they may have to the attending
7 physician to make it clear that they suspect there is an
8 organic problem?

9 MR. SCHOBERT: Objection. Withdraw
10 objection.

11 A. I get -- if I may paraphrase your question,
12 see if I understand it correctly. You are asking me if
13 the emergency room physician suspects that there may be
14 any condition which could be a potential problem for a
15 patient refferal, is there an obligation to communicate
16 that suspicion to the receiving physician, if he elects to
17 refer that patient?

18 Q. Communicate --

19 A. I agree with that.

20 Q. Communicate it clearly.

21 A. Right. It could be verbally or in writing,
22 but it needs to be communicated.

23 Q. Does acute psychosis communicate the fact
24 that Dr. Davis believed there was an organic brain problem

1 involved here as one of the possibilities?

2 A. Well, since acute psychosis can be caused by
3 organic problems, it certainly does communicate that there
4 is some etiology for it, and it needs to be evaluated.

5 Q. Okay. There are two different things going
6 on here. First of all, Dr. Davis has testified that he
7 believed that this patient's problems could either have
8 been functional or organic.

9 MR. SCHOBERT: Objection.

10 MR. TRACI: Well, that's true.

11 MR. SCHOBERT: I know, but I am just
12 trying to protect the record. You may be
13 right, but whenever you start paraphrasing
14 testimony, I just get nervous.

15 MR. TRACI: Okay.

16 Q. It's my understanding, Doctor -- I think you
17 read the history to know what he was saying -- that Dr.
18 Davis from his evaluation of this patient and examination,
19 et cetera, believed that this patient's problems as
20 presented at that emergency room could have had an organic
21 or psychiatric etiology.

22 MR. SCHOBERT: Objection.

23 Q. Is that a fair statement?

24 A. I have a memory like that also.

1 Q. Okay. Now, under those circumstances was it
2 appropriate for Dr. Davis to have given or written a
3 diagnosis that more likely than not conveys psychiatric
4 rather than organic?

5 MR. SCHOBERT: Objection.

6 A. I guess that would not be appropriate, but I
7 am not aware what happened.

8 Q. The diagnoses was acute psychosis. Doesn't
9 that more than likely suggest a psychiatric --

10 A. No, it doesn't to me, so that's why I didn't
11 understand.

12 Q. As an emergency room physician who is writing
13 down a diagnosis on emergency room charts for referral to
14 an attending physician upon admission, isn't it incumbent
15 upon you to apprise yourself of what the general medical
16 community of attending physicians would understand your
17 diagnosis to be and mean?

18 MR. SCHOBERT: Objection.

19 A. I think we all come out of medical training
20 with a certain understanding of the way communications
21 occur and what certain things mean, and when we leave our
22 state of training to go to other sites, there may be some
23 difference; but in general throughout the country there is
24 fairly the same level of understanding. But your question

1 relates to whether or not a physician has an obligation to
2 ascertain how other physicians react to their ways of
3 communicating, and the answer to that is I don't know.

4 Q. Well, isn't it important when you -- wouldn't
5 it be important for an emergency room physician to make
6 sure that whatever his impressions are, are accurate and
7 properly communicated to the attending physician?

8 A. Yes.

9 Q. And if an emergency room physician is using
10 terms that he knows aren't properly conveying the
11 information to a treating physician, then it's incumbent
12 upon that emergency room physician to make the proper
13 communication?

14 A. Certainly.

15 Q. That's for the patient's benefit?

16 A. Certainly.

17 Q. So if there is any confusion or doubt
18 associated with that communication, that falls upon
19 perhaps both physicians but certainly the emergency room
20 physician for the patient's benefit to make it clear what
21 the diagnosis is, what his interpretations are?

22 A. I think the emergency room physician should
23 make things clear, but there is no way for an emergency
24 room physician to know if another physician has doubt

1 unless that second physician expresses such a doubt. I
2 don't know that an emergency physician has the obligation
3 to follow up with receiving physicians, and say, "By the
4 way, did you have any doubts on what I wrote down or was
5 anything unclear?"

6 Q. Okay. Now, given a circumstance where an
7 emergency room physician is trying to convey the fact that
8 this man may have some process going on in his brain
9 physically that can cause him brain damage, isn't it
10 inappropriate for Dr. Davis not to have made that more
11 clear to raise the index of suspicion of the attending
12 physician in this case?

13 MR. SCHOBERT: Objection. Asked and
14 answered.

15 A. I believe from the sum total of the material
16 I read that the diagnosis of acute psychosis conveys that
17 particular information, and Dr. Davis knows the patient
18 got admitted and was not discharged to some nebulous
19 outpatient follow-up, and that was enough. No further
20 obligation is what I am saying.

21 Q. Okay. Is that true even if Dr. Davis was
22 aware of the fact -- and I ask you to assume that this is
23 true -- Dr. Davis is aware of the fact that the
24 psychiatric nurses and perhaps the psychiatrists were

1 working under the assumption at the hospital that the
2 emergency room physicians were medically clearing the
3 patient; assume he had that kind of knowledge, would that
4 not raise his obligation to make a very clear conveyance
5 of the information that he suspected that this man had an
6 organic problem?

7 MR. SCHOBERT: Objection.

8 A. No, not at all.

9 Q. He had a right to just do what he did do, and
10 if the psychiatrist misunderstood or interpreted or
11 believed that he was medically cleared, that's the
12 psychiatrist's problem and not Dr. Davis?

13 MR. SCHOBERT: Objection.

14 MS. MINKLER: Objection.

15 A. There are two things. One is that actually
16 you said it much better than I did several dozen pages ago
17 when you talked about a physician has the obligation to
18 evaluate a patient, formulate their own opinion, so I
19 really can't state it any better than you did. Secondly,
20 the term medically clear refers to the presence of acute
21 problem right at that time, and it does not refer to any
22 possibility of there not being a chronic problem or
23 possibility of change in the patient's condition, and so --

24 Q. I agree with that, but what does the ER

1 physician -- what is he required to do with reference to
2 his duty to the patient when he knows that the physician
3 he is referring the patient to, the attending physician,
4 is working under the assumption this patient is cleared of
5 all medical problems --

6 MR. SCHOBERT: Objection.

7 Q. -- as to the patient? Does that raise the
8 obligation of the potential that the emergency room
9 physician ought to give that physician --

10 A. If a hypothetical emergency physician
11 thought -- if a hypothetical psychiatrist felt that they
12 were operating under the assumption that there is 100
13 percent impossibility of any organic problem, then the
14 emergency room physician would have the obligation to
15 communicate further or do something.

16 Q. I guess the bottom line what I am saying is
17 when you know as an emergency room physician that the
18 people you are referring a patient to either are
19 incompetent or don't understand your diagnosis or are
20 making an erroneous assumption about what an emergency
21 room physician does in terms of medically clearing a
22 patient, under those circumstances doesn't the emergency
23 room physician have as a standard of care designed to
24 protect a patient a greater obligation to make sure that

1 he personally conveys his concern and interest and makes
2 sure that the patient gets the proper test and procedures
3 done?

4 MR. SCHOBERT: Objection.

5 MS. MINKLER: Objection.

6 A. Well, that's very long, and I think in terms
7 of incompetence, the approach would be that we should not
8 be referring to anybody that we think is incompetent. The
9 second category -- I forget the third category -- that of
10 a receiving physician who misunderstood the role of the
11 emergency physician, if that was known, then the emergency
12 physician would have the obligation to communicate that
13 but would not have the obligation to, "be sure that
14 certain tests and procedures were done."

15 Q. Vital signs in and of themselves do not rule
16 in or rule out organic brain problem, do they?

17 A. Absolutely correct.

18 Q. If a chronic subdural causes acute symptoms,
19 meaning now symptoms are starting, is that not then an
20 acute problem --

21 MS. MOORE CARULAS: Objection.

22 Her: Objection.

23 Q. -- requiring investigation?

24 A. It sounds circuitous. Are you saying the

1 problem is acute or that is an acute problem?

2 Q. No. Is this chronic -- if you had a subdural
3 or chronic problem of any kind that now presents acute
4 symptoms, meaning recent new symptoms, does that not make
5 what you have generally called a chronic problem an acute
6 problem?

7 MS. MINKLER: Objection.

8 MS. MOORE CARULAS: Objection.

9 MR. SCHOBERT: Objection.

10 Q. That sounds obvious, but I guess I just need
11 to have you agree or disagree.

12 A. I think the answer to that is yes, but you
13 could say that about anything that's acute.

14 Q. What was there in this record that allowed
15 Dr. Davis to conclude -- what support is there in the
16 record of the symptoms that would allow you to say that
17 Dr. Davis, with these neurological abnormal findings that
18 he had, that this was not an acute problem requiring
19 immediate treatment as opposed to something that could
20 wait?

21 A. The fact that the patient was conscious, the
22 patient moved all extremities, had no lateralizing signs,
23 had no vital signs and also did not seem to be
24 deteriorating and was under Dr. Davis observation, and I

1 think -- I wasn't clear on this -- depending on how much
2 information Dr. Davis had and he did not have a marked
3 deterioration during that time interval either, all that
4 indicates that this is not a hyperacute problem.

5 Q. Well, what is hyperacute?

6 A. Hyperacute I was trying to differentiate
7 intervention in the emergency center versus intervention
8 at some later time after the patient is admitted.

9 Q. In terms of -- in order to be an acute
10 problem -- that's what I tried to ask you before in terms
11 of your definition of acute. In order to be an acute
12 problem, do the symptoms have to change right before the
13 doctor's eyes or in the hour or two in the ER or could
14 that be an acute change from the day before ER or from six
15 hours before or from a week before? What does acute mean?

16 A. The answer is that's a judgment the emergency
17 physician has to make based on the total information they
18 have, depending on what kind of disease process it has and
19 what kind of interventions are available.

20 Q. Is it your statement that or your opinion
21 then that because it's a matter of judgment, it is not a
22 matter of standard of care with reference to the emergency
23 room physician? There is no objective standard of care in
24 terms of what is considered an acute problem and when one

1 is not?

2 A. To answer the question you asked
3 specifically, no.

4 Q. There is no standard of care of acute versus
5 non-acute in the action?

6 A. You're right.

7 Q. It's simply a matter of judgment?

8 A. Yes.

9 Q. If the emergency room physician makes the
10 wrong judgment, that's tough, he wasn't below the standard
11 of care as you as an emergency room physician understand
12 it?

13 A. Depends within which entity it was, what
14 happened. I mean you can make comments regarding
15 standards, but standards are fairly specific, so you have
16 to have a specific patient to compare the standards to.
17 Just to say acute versus chronic, there is no standard
18 that deals with that general term.

19 Q. Isn't it true that an emergency room doctor
20 should be able to function as an internist, surgeon,
21 psychiatrist, pediatrician, gynecologist, radiologist, and
22 indeed several other specialties all in one?

23 A. In the acute aspect of the problems -- of
24 some problems, yes.

1 Q. So he's supposed to be a jack-of-all trades,
2 in other words?

3 A. He or she.

4 Q. He or she. I did not mean to make that
5 exclusive.

6 A. That's okay.

7 Q. So the answer to that is yes?

8 A. Yes.

9 Q. You are supposed to know enough about those
10 various areas in order to rule in or rule out or decide
11 who a patient should be referred to?

12 A. To rule in or rule out is less common as to
13 decide where the patient should go.

14 Q. Is it the standard of care that he should
15 rule in or rule out certain things --

16 MR. SCHOBERT: Objection.

17 Q. -- in the emergency room?

18 A. It is the standard of care that there are
19 some things that the emergency physician should definitely
20 rule in or rule out.

21 Q. Do you intend to express any opinion other
22 than what's contained in your report about what impact, if
23 any, Mr. White's not being diagnosed and treated as a
24 result of Dr. Davis' care had on his long-term result?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

MR. TRACI: Okay. I don't think I have

MS. MOORE CARULAS: No questions.

MR. SCHOBERT: We will agree on the
and if anybody needs this immediately to
to the various people that he can get
corrections just as soon as possible
to hold up that process.

MR. TRACI: I don't care about

MR. TRACI: You are going to hire him

(Deposition concluded and witness

● 非暴力不合作運動

1999



C E R T I F I C A T E

I, Renee E. Brass, a Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, BRUCE D. JANIAR, M.D., was by me first duly sworn to tell the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given was by me reduced to stenotype in the presence of said witness and afterwards transcribed; that the foregoing is a true and correct transcription of the testimony so given as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Toledo, Ohio on this 25th day of June 1990.



RENEE E. BRASS
Notary Public

in and for the State of Ohio

My Commission expires September 19, 1991.

