

COPY

IN THE COURT OF COMMON PLEAS  
OF TRUMBULL COUNTY, OHIO

THOMAS W. MONROE,  
Individually and as  
Executor of the Estate of :  
Deborah L. Monroe,  
Plaintiff,

v. : Case No: 00CV2380  
: Judge Kontos  
JOHN MAXFIELD, M.D., :  
Et al., :  
Defendants. :

- - -

Deposition of BRUCE D. JANIAC, M.D., a  
Witness herein, called by the Defendant as upon  
Cross Examination pursuant to the Ohio Rules of  
Civil Procedure, taken before Julie K. Latham,  
Registered Merit Reporter, Notary Public in and  
for the State of Ohio, at the offices of Bruce D.  
Janiak, M.D., 27087 Oakmead, Perrysburg, Ohio, on  
Friday, September 13, 2002, commencing at 9:00  
a.m.

- - -

-----  
SEAGATE REPORTING SERVICE, INC.  
405 Madison Avenue, Suite 900  
Toledo, Ohio 43604  
(419)241-2070

## I N D E X

DEPOSITION OF BRUCE D. JANIAK, M.D.:

<u>EXAMINATION</u>	<u>PAGE/LINE</u>
CROSS EXAMINATION BY MR. OCKERMAN.....	3:14
REDIRECT EXAMINATION BY MS. TAYLOR-KOLIS.....	36:10
RECROSS EXAMINATION BY MR. OCKERMAN.....	39:15
 <u>OBJECTIONS</u>	 <u>PAGE/LINE</u>
MR. OCKERMAN.....	38:19
MR. OCKERMAN.....	39:5

1 APPEARANCES:

2 On behalf of the Plaintiff: ,

3 FRIEDMAN, DOMIANO & SMITH L.P.A.:  
4 Donna Taylor-Kolis  
5 Sixth Floor - Standard Building  
1370 Ontario Street  
Cleveland, Ohio 44113 216-621-0070

6 On behalf of the Defendants:

7 HANNA, CAMPBELL AND POWELL, LLP:  
8 Michael Ockerman  
P.O. 5521  
9 3737 Embassy Parkway, Suite 100  
Akron, Ohio 44334 330-670-7300  
10 - - -

11 BRUCE D. JANIAK, M.D.,

12 being first duly sworn, as hereinafter certified,  
13 testified and said as follows:

14 CROSS EXAMINATION

15 BY MR. OCKERMAN:

16 Q Good morning.

17 A Good morning.

18 Q My name is Michael Ockerman. I'm here on behalf  
19 of Dr. Maxfield and his corporation in which a  
20 lawsuit has been filed by the estate of Deborah  
21 Monroe. I understand that you have been retained  
22 as an expert on behalf of the estate of Deborah  
23 Monroe, is that correct?

24 A Yes, sir.

25 Q You've had your deposition taken before, but just

1           so we're clear, it's important that you speak your  
2           answers rather than nods of the head, shrugs of  
3           the shoulders because the court reporter, Julie,  
4           cannot take those down.

5           Also, if you do not understand a question  
6           please tell me to rephrase it and I will; and,  
7           otherwise, I'll assume that you understood the  
8           question. Is that fair?

9       A     Yeah.

10      Q     You have been involved in lawsuits yourself?

11      A     Right.

          Q     Do you know how many?

13      A     Personally?

14      Q     Personally.

15      A     Yeah, two.

16      Q     Did any of those lawsuits have to do with the  
17           failure to diagnosis an aortic dissection and/or  
18           an aortic aneurysm?

19      A     No, they did not.

20      Q     Or have you given testimony in the past in regard  
21           to cases involving the diagnosis of a thoracic  
22           dissection and/or aneurysm?

23      A     I would say almost certainly I have. Certainly  
24           I've done cases in dealing with the aorta. I  
25           would guess two or three on the thoracic aorta and

1           the rest in the abdominal aorta, the rest being  
2           another four or five, I ,suppose.

3       Q     And can you recall any of the cases involving the  
4           thoracic aorta any information, whether attorneys'  
5           names --

6       A     I understand the question and I wouldn't know the  
7           names and nothing recent. It's been years.

8       Q     Do you keep any records of the cases that you  
9           review?

10      A     Yeah, I have billing records and in the billing  
11           records actually would be probably the main point  
12           of the case. So, it would be possible for me to  
13           look up "aorta", for instance.

14      Q     And are these kept on computer and they're readily  
15           available to you?

16      A     That's -- I wish I could say that. My old  
17           computer, which is dying but could still be  
18           functioning, has all the cases on it. They are  
19           all in Microsoft Word Perfect, which as you know  
20           is an antique system. I had it all backed up to  
21           disk and I'm unable to get it extracted from the  
22           disk. If the old computer still works I could  
23           probably without any trouble find the word aorta  
24           and see what there is and if you'd like I'd be  
25           happy to get that for you.

- 1 Q Please. Can we get it today or --
- 2 A I don't see why we couldn't get it today if -- my
- 3 computer's at home, so perhaps we could get it
- 4 today.
- 5 Q Okay.
- 6 A All I would be able to get you, since I have no
- 7 printer that connects to this computer, would be
- 8 the names of the cases and the dates I could get
- 9 that today.
- 10 Q All right. If you could supply that to Ms Kolis
- 11 I would greatly appreciate it.
- 12 Doctor. Do you know, how were you first
- 13 contacted to review this case?
- 14 A I would guess by telephone.
- 15 Q Where you reviewed it or cases for Ms Kolis?
- 16 A I have I would -- my guess is this is the third.
- 17 But that's my guess.
- 18 Q Do you know when you were first contacted?
- 19 A I wouldn't know the date of the telephone
- 20 conversation, but in the file there could be a
- 21 letter that -- a transmittal letter.
- 22 Q And the earliest letter I see in the file is
- 23 September 15th, 2000. That would be on
- 24 Ms Kolis's letterhead.
- 25 A You looked more recently than I did, so I have no

1 reason to doubt that at all.

2 Q Can we just verify that if you --

3 A You say September 2000?

4 Q September 2000.

5 A You are correct, September 15th.

6 Q Doctor, can you tell us what you reviewed?

7 A Medical records for Deborah Monroe at St. Joseph  
8 Health Center on the 16th of July of 1999. I  
9 think there was an E.M.S. run sheet associated  
10 with that. The medical records from St. Joseph  
11 Family Medical Center for the same date. I  
12 believe it -- that is Dr. Shah's evaluation. And  
13 depositions of Dr. Shah and Dr. Maxfield. I think  
14 those are the only two depositions.

15 Q You have two reports I see in your file?

16 A Right. First one and then a supplemental one  
17 after I reviewed the two depositions of Shah and  
18 Maxfield.

19 Q Any other handwritten notes or computer generated  
20 notes?

21 A The billing record in my computer.

22 Q I see that on the outside of the depositions you  
23 have some notes written down.

24 A That is correct. It would be the amount of time  
25 it took me to read the deposition, the date I read

1 the deposition, or finished reading the  
2 deposition, and then page references on things  
3 that interested me when I read the deposition.

4 Q Okay. Why don't we go through those page  
5 references.

6 A Since you stepped out, do you -- if we could start  
7 with Dr. Shah's deposition that has a date of 19  
8 January '02, which is the date I read it, and  
9 there were no page references.

10 Q Okay. So, nothing really drew your attention?

11 A Nothing jumped out at me.

12 Q Okay.

13 A For Dr. Maxfield's deposition, the 28th of  
14 November of 2001, the following page references.  
15 Would you like me to go through and read what I  
16 thought about each reference?

17 Q Give me the page and we can go through.

18 A Page nine, this was a question regarding whether  
19 he was researching anything about aneurysms and he  
20 was -- his answer was he was wanting to know if  
21 there as a physiologic basis for someone with low  
22 back pain and only low back pain to have a  
23 thoracic level dissection. And I had checked that  
24 because Dr. Shah's records indicates it was pain  
25 in the upper back, nurses' note indicates mid back



1 pain, so the research is interesting but I don't  
2 know what it had to do with this patient. I say  
3 that in quotes.

4 Page 12, this is in reference to Dr.  
5 Maxfield's conversation with Dr. Shah. He  
6 indicates that Dr. Shah was considering an  
7 aneurysm and he, some reason or other, thought  
8 Dr. Shah was embarrassed to suggest that. But he  
9 wanted to send the patient anyway for me to look  
10 at it and he mentioned CT scan of the chest. So  
11 that indicates that Dr. Maxfield knew that  
12 Dr. Shah was concerned about an aortic disease in  
13 the chest.

14 Next is page 18, and this is where  
15 Dr. Maxfield nicely credited me with being an  
16 editor of a journal and I'm not, so I was  
17 impressed. I'd guess, anyhow.

18 Q Let me stop you there. Do you know Dr. Maxfield?

19 A So I do. Don't know who he is

20 Twenty-eight is the next page. This is where  
21 Maxfield says he's reading a document where it  
22 says, 'Patient complains of a severe back pain mid  
23 scapular region,' and then he is trying to  
24 interpret these words and he has -- he understands  
25 there's a word suddenly there EMS called, another

1 reference to chest pain. That just is more  
 2 information to me that there was -- there was  
 3 indication there to indicate it was chest  
 4 discomfort

5 Page 29, no. I think what he's referring to is  
 6 Shah's records because he talks about discussing  
 7 this patient with St Joe's ER. will transfer for  
 8 further evaluation. Something about a CT and then  
 9 consider back pain, hypertension, rule out any  
 10 evidence of aorta. That just is what -- he's  
 11 trying to read something that's handwritten I  
 12 think. That's, once again, more reference to the  
 13 aorta.

14 And the last one is page 31, where he's --  
 15 there's -- he's asked a question about the  
 16 relationship between the previous history given by  
 17 Dr. Shah and the patient's complaint according to  
 18 Dr. Maxfield of sudden diffuse lower back pain,  
 19 onset three hours prior to arrival. So, there's a  
 20 discrepancy there.

21 And that is all my references

22 Q And that discrepancy is between what was recorded  
 23 at the earlier institution and what the patient  
 24 was telling Dr. Maxfield?

25 A Well, I think the discrepancy is between what was

1 recorded at the earlier institution and what was  
2 communicated even 'according to Dr. Maxfield by  
3 Dr. Shah to Dr. Maxfield. And then there is an --  
4 and then, you're correct, there is -- that is not  
5 -- does not jibe exactly with what Dr. Maxfield  
6 wrote down as the patient's own history in his  
7 emergency medicine record.

8 Q Well, what, based upon your review of the  
9 depositions, what is it, what discrepancy existed  
10 between Dr. Shah and Dr. Maxfield?

11 A Well, I think I can only answer the discrepancy  
12 between Dr. Shah's's history as recorded and  
13 verbalized and Dr. Maxfield's recorded history.  
14 Dr. Maxfield says, quote, "Patient complains of  
15 sudden diffuse lower back pain, onset three hours  
16 prior to arrival." And he also says there has  
17 been no chest pain and he also says the condition  
18 has remained unchanged since onset. None of that  
19 is consistent with even what Dr. Maxfield says  
20 Dr. Shah told him.

21 Q So -- and that's what I'm trying to get at.  
22 Dr. Maxfield -- so as far as what Dr. Shah told  
23 Dr. Maxfield there is no discrepancy?

24 A It seems like Dr. Maxfield agrees that Dr. Shah  
25 told him that there was -- that he was concerned

1           about a disease of the aorta in the chest because  
2           the patient had chest discomfort.

3       Q     Upper back?

4       A     Upper back, right.

5       Q     Okay.

6       A     Posterior chest area.

7       Q     So, the discrepancy really exists between what  
8           Dr. Shah recorded -- no, strike the question. The  
9           discrepancy seems to be between what the patient  
10          told Dr. Maxfield versus what she told Dr. Shah?

11      A     Yes, and also is the inconsistency of what  
12          Dr. Maxfield did about that history.

13      Q     Isn't it a fact that the most important  
14          information you receive is from the patient  
15          themselves?

16      A     If that's the only history source of course that  
17          is true. The patient's history is really the  
18          primary history you go on, but that doesn't mean  
19          it's the only history.

20      Q     And do you see in Dr. Maxfield's report there  
21          where he indicates on three occasions that this is  
22          -- she's only complaining -- or infer from that,  
23          that she's only complaining of lower back pain?

24      A     Yes.

25      Q     And that was dictated the same day she was there?

1 A I never looked at that. According to that, that's  
2 true. According to the record.

3 Q Doctor, what type of practice are you in now?

4 A I'm still practicing emergency medicine. My most  
5 recent shift was this last Saturday evening at  
6 North Oakland Medical Center in Pontiac, Michigan.  
7 Since you and I last met I have been -- I'm now on  
8 the staff at Mercy Memorial Hospital in Monroe,  
9 Michigan. And I'm just waiting to have them call  
10 me and tell me what days they're going to have me  
11 work.

12 Q Are you going to be an employee of the ER group  
13 there or an independent contractor?

14 A You know what? I never asked that question. It  
15 sounds funny, but since it's part-time work and,  
16 as you well know from our previous conversation  
17 I'm considering moving south, I wanted to keep  
18 active until I get that done. But just to  
19 complete the whole process I spent the last two  
20 days in Augusta, Georgia finishing up my  
21 relationships with the Medical College of Georgia  
22 and I went from an initial three day a month to  
23 four day a month sort of teaching position down  
24 there, which will probably evolve beyond that.

25 Q So, you're teaching down there four days?

- 1 A Four days a month And that I can't tell you what  
2 day that will start because there's still another  
3 -- one more medical staff document I need to give  
4 them before they'll finalize my privileges  
5 And then you would plan to move down to Augusta,  
6 Georgia?
- 7 A Well, the answer to that is if I sell my house  
8 here it would be ninety-five percent certainty I  
9 would do that
- 10 Do you know Dr. Phyllis Dorge?
- 11 A Never heard of that doctor.
- 12 Do you know Dr. Charles Emmerman?
- 13 A Yes. = do.
- 14 And how do you know Emmerman?
- 15 I believe Dr. Emmerman would tell you that I am  
16 the reason he went into emergency medicine If  
17 you want to go back, he went to medical school  
18 at the Medical College of Ohio at Toledo and I  
19 think he rotated through the Toledo Hospital  
20 emergency department Now, this is many years  
21 ago Got excited about emergency medicine and  
22 went into it since that time I have run into him  
23 at meetings I would say two or three times and  
24 about two years ago I visited his emergency  
25 department, but I can't remember the reason.

1 Q Do you at all know the Monroe family?

2 A I do not.

3 Q Do you advertise your services?

4 A I do not.

5 Q Do you lend your services out to name -- to any  
6 type of firms that hook you up with other  
7 attorneys?

8 A I do not.

9 Q What do you understand plaintiff's -- or I'm  
10 sorry, what do you understand the decedent's  
11 medical history to be prior to her presentation to  
12 St. Joseph's?

13 A Primarily it was high blood pressure.

14 Q Have you had the chance to look at her family  
15 practice records or any other medical records?

16 A I have not.

17 Q Have you asked to see --

18 A I have not.

19 Q Have you asked to see anything in this case that  
20 you haven't been shown?

21 A I have not.

22 Q Have you looked at the x-rays?

23 A I do not believe so.

24 Q Or the CT scan of the abdomen?

25 A I have not.

1 Q Didn't feel that was important to your review of  
2 this case?

3 A That's correct.

4 Q Was it Dr. Maxfield had ordered chest x-rays?

5 A You are correct.

6 Q Do you know why?

7 A No. That's an interesting point. The patient, as  
8 he even pointed out in the records several times,  
9 only complained of back pain. His records  
10 indicate the chest was clear, there was no  
11 temperature and no history of cough, so you would  
12 wonder why anyone given that would order a chest  
13 x-ray.

14 Q And would a chest x-ray be the first step in  
15 determining whether a patient does have pathology  
16 which could cause upper back pain?

17 A Sure, it could be.

18 Q Does the standard of care require the emergency  
19 room physician to review that chest x-ray?

20 A If they take it the standard does not require that  
21 the emergency physician him or herself review it.  
22 It depends on whether timely radiological  
23 evaluation is available.

24 Q And in this case was timely radiological  
25 evaluation available?



- 1 A I do not know the answer to that
- 2 Q Have you seen the readings that were done on the
- 3 chest x-rays that were supplied to Dr. Maxwell?
- 4 A I think I know Dr. Maxwell's records say chest
- 5 normal according to radiologist
- 6 Q So, that would be an indication to you from that
- 7 statement that the radiologist looked at the
- 8 x-rays and reported them as Dr. Maxwell as
- 9 normal?
- 10 A Yes, indeed.
- 11 Q But you don't know if you've seen the -- Y I
- 12 take a quick look at what you have there? I've
- 13 handed you what I'll call a radiologist read form
- 14 Have you seen that before?
- 15 A Now, that you point it out, yes
- 16 Q And that would indicate that the chest x-ray was
- 17 negative and was found to be negative?
- 18 A That is correct.
- 19 Q What kind of signs had symptoms of pathology can
- 20 be seen on a chest x-ray which would explain
- 21 someone's upper back pain, mid scapular back pain?
- 22 A One could be especially on the lateral chest
- 23 x-ray a fracture of vertebra. You could be
- 24 evidence of inflammatory condition, pneumonia
- 25 being the most common. You could see a collapse

1 lung. You could see an enlarged heart and then  
2 make an assumption that the enlargement was  
3 secondary to a pericardial effusion which would be  
4 a cause of pain. And you could see vascular  
5 abnormalities including a widened mediastinum.  
6 You could also see evidence of lung tumor. All of  
7 those -- those be the most common abnormalities  
8 that you would see on chest x-ray that could  
9 explain back pain, mid back pain, mid chest  
10 posterior back pain.

11 Q Did you have a chance to read the autopsy?

12 A I did, just about half hour ago.

13 Q Okay. And what did that indicate to you or was  
14 that important to your opinions?

15 A Well, the autopsy itself, I mean, I had known  
16 verbally that the patient had an aortic  
17 dissection; and so, I guess it didn't add  
18 anything.

19 Q Okay.

20 A Except to confirm that that was true.

21 Q Do you know the cause of the aortic dissection?

22 A Well, I -- no, I don't think so. The most common  
23 causes would be a history of hypertension or  
24 diseases of the aorta itself.

25 Q You're going to give opinions in this case in

1           regard to the standard of care?

2       A     Yes, sir.

3       Q     Are you going to give opinions in the case in  
4           regard to proximate cause?

5       A     No.

6       Q     Going to give opinions in regard to had  
7           Mrs. Monroe had surgery Mrs. Monroe's prognosis?

8       A     I'm not because I don't know enough about the  
9           surgical aspects of this to be able to say that.

10      Q     Are you going to give opinions about  
11           Mrs. Monroe's life expectancy had she --

12      A     No. I would defer that to the surgical folks.

13      Q     Okay. Define the standard of care for me.

14      A     I believe it's what the average -- well, in  
15           emergency medicine it's what the average competent  
16           emergency physician would do in terms of  
17           evaluating and perhaps treating a patient in a --  
18           with similar -- presenting with similar signs and  
19           symptoms.

20      Q     And assuming that Mrs. Monroe's complaints were to  
21           her lower back then you would agree that  
22           Dr. Maxfield met the appropriate standard of care  
23           in his evaluation?

24      A     If he never had any information from any source  
25           and the patient never had any chest pain or upper

1 back pain of any kind and also he didn't receive a  
2 phone call from a physician suggesting that he was  
3 concerned about aneurysm, if all of that is true,  
4 then, no, I wouldn't have a problem.

5 Q Have you seen the face sheet of the St. Joseph's  
6 Medical Center, this one here?

7 A Well, I mean, yes, of course.

8 Q What's it say down at the bottom?

9 A Says severe back pain and hypertension, rule out  
10 aneurysm of abdomen.

11 Q Do you know where that information came from?

12 A I do not know who wrote that down. The  
13 handwriting is so good it's probably not a  
14 doctor's, but I can't tell.

15 Q Does standard of care require perfect care?

16 A Of course not.

17 Q Does a bad result equate to negligence?

18 A It does not.

19 Q What are your opinions in regard to the standard  
20 of care in this matter?

21 A Well, I believe that this patient had -- was sent  
22 for evaluation of possible aortic disease and that  
23 the complaint was in the chest and then the  
24 complaint was lower down in the -- in the back.  
25 And that to meet the standard of care you would

1 have to evaluate the entire aorta, not just the  
 2 abdominal aorta. And either one of two tests  
 3 could have been done to meet the standard; a chest  
 4 CT or a transesophageal echocardiogram. Either  
 5 one would have been fine.

6 Q Do you interpret transesophageal echocardiograms?

7 A I do not.

8 Q And those are interpreted by whom?

9 A I would say in most institutions cardiology. I'm  
 10 sure there is an occasional radiologist that does  
 11 it, but I think it's almost all cardiology.

12 Q Do you interpret CTs of the chest?

13 A I do not.

14 Q And that's done by a radiologist?

15 A That's radiology.

16 Q And what is the basis of your opinions? Why are  
 17 you saying that there was a breach of the standard  
 18 of care?

19 A Well, when an emergency physician gets called by  
 20 other physicians with information where the  
 21 sending physician says I'm really concerned about  
 22 a specific disease process, it certainly is  
 23 appropriate for the receiving physician to do  
 24 their own evaluation. But you must respect the  
 25 concerns of the sending physician because a

1 sending physician has gotten their own history  
 2 which should be about as valid as a receiving  
 3 physician's history

4 We also know that history changes over time  
 5 either because the patient has a different slant  
 6 on the questions or because, as I believe happened  
 7 in this case, the disease process is actually  
 8 progressing, and so it causes a different type of  
 9 discomfort

10 At any rate, in this case the index of  
 11 suspicion for aortic disease was high for the  
 12 following reasons. Number one, another physician  
 13 says I have a suspicion for aortic disease, and  
 14 that really puts it at the top of the list

15 Number two, the patient's numerous occasions  
 16 had sudden onset of pain, when is he just talk  
 17 about the low back sudden onset of pain you have  
 18 to consider strongly a vascular emergency

19 Number three, the patient was hypertensive  
 20 which is a known precursor of vascular disease and  
 21 aneurysmal disease anywhere in the body

22 Putting all those things together -- and by  
 23 the way, to be very fair, this patient was young,  
 24 and so it -- you would think just looking at a  
 25 39-year-old patient that that aneurysm would be

1           unlikely, but once you have all the information  
2           that I listed prior to saying she was young you  
3           must go ahead and evaluate the aorta. And I think  
4           the abdominal aorta needed to be evaluated and it  
5           was but because the patient started with mid  
6           thoracic pain there and posterior thoracic chest  
7           pain the thoracic aorta also needed to be  
8           evaluated.

9       Q     Do you have any textbooks or literature that would  
10           support your opinion?

11      A     Well, I think that's an expected and excellent  
12           question in this case especially because I would  
13           say there you never find a textbook that talked  
14           about information from a sending physician to a  
15           receiving physician. I mean, that's -- so, that  
16           answer would be experiential. But I certainly --  
17           and I -- I can't site one now, but I can't imagine  
18           we cannot find sudden onset of mid thoracic chest  
19           pain in a hypertensive patient be issues that are  
20           not associated with aneurysm, because they are --  
21           I'm sorry -- aneurysmal disease in a decision  
22           because plain aneurysm you usually doesn't cause  
23           pain.

24      Q     A plain aneurysm?

25      A     If you just have an aneurysm and it's not

1 dissecting or leaking it is probably not going to  
2 be painful.

3 Q You indicate that you're going to be teaching  
4 down in Augusta. What textbooks are you going to  
5 use to refresh your residents and/or students to in-  
6 troduce them of emergency room medicine?

7 A I don't -- I don't even know what textbooks they  
8 have in the emergency department. However, I do  
9 know the director of the emergency department and  
10 so I cannot imagine they do not have the standard  
11 textbooks which would be Tintinelli, Rosen, that  
12 is number two, and Parkin is number three. They  
13 have a very nice library there, so I'm sure they'd  
14 have all those things.

15 Q What causes the pain?

16 A As I understand it, when you're getting a little  
17 far aside, the pain is caused because the nerve  
18 nerves within the wall of the large blood vessel,  
19 in this case the aorta, and it is a stretch  
20 receptor, so that when there's a sudden dilation  
21 of sudden injury you have pain.

22 Now, I want to differentiate that from a  
23 gradual creation of the aneurysm over a period of  
24 years or months that expansion is so slow that  
25 there may not be any pain at all but when



1           there's a sudden disruption of the lining of the  
2           aorta it's my understanding that it's from stretch  
3           receptors in the aortic wall.

4       Q     Have you diagnosed aortic dissection, thoracic  
5           aortic dissection, in someone less than 40 years  
6           old?

7       A     I -- hoo -- I don't know. I would say probably  
8           not. I think there's a couple in their forties  
9           and I know there are people in their thirties that  
10          I have sent for chest CT and one I think with a  
11          TE, but I'm not sure about the age of that one,  
12          but certainly I've done chest CT's on people  
13          younger than 40 to look for it because their  
14          symptoms were consistent or I thought consistent  
15          with that.

16      Q     From your review of the information provided to  
17           you do you believe that this was a -- that her  
18           hypertension was that this -- that this dissection  
19           was either -- was it a dissection or an aneurysm?

20      A     Well, there was an aneurysm that had to pre-exist  
21           -- that is not necessarily true. You can dissect  
22           without an aneurysm. Usually there's an aneurysm.  
23           Whether or not the events of dissection then  
24           obliterate the evidence for dissection -- evidence  
25           for aneurysm I don't know. I'm not a vascular

1 pathologist. I suppose it's possible you could  
2 have a small aneurysm and dissection which you  
3 wouldn't be able to see anything in this case we  
4 know there was dissection.

5 Q Do we have any evidence of an aneurysm?

6 A I don't know that I'd have to read the autopsy  
7 again. I don't know if there's mention aneurysm.

8 Q If we have -- have an aneurysm that develops before  
9 the dissection does that aneurysm indicate to you  
10 one way or the other whether this was due to  
11 chronic uncontrolled hypertension or an acute  
12 event of uncontrolled hypertension?

13 A I would say whatever there was an aneurysm or not  
14 it would be the disease process, the aneurysm and  
15 the dissection or just the dissection alone would  
16 be related to the chronic hypertension.

17 Q Do you know what the sensitivity is for a TE in  
18 picking up a thoracic dissection?

19 A It's my understanding that sensitivity and  
20 specificity are quite high if done by an  
21 experienced cardiologist. Quite high would be  
22 believe over 90 percent. And in some standards  
23 it's a little bit tighter than a CM of the chest.  
24 but that's not true everywhere. So either test is  
25 acceptable.

1 Q Do You what the sensitivity and  
2 specificity --

3 4 They're very close -- of the CT, they're very  
4 close, yes Nothing is a hundred percent.

5 Q Do You know what time Mrs Monroe died?

6 4 No, I don't Have to go back and look that up

7 Q Do You know whether they were capable at

8 St Joseph's to do this type of operation that  
9 would have to be done?

10 A No, I have to look that up. I have no idea.

11 Q Is the diagnosis would have been made do you agree  
12 with me that it would have been an emergency --  
13 A Sure.

14 Q -- to take the patient to surgery?

15 4 My answer is I don't know. And the reason I'm  
16 answering that way is there are -- there are  
17 some types of dissections in which initial medical  
18 management is indicated, and therefore, I would  
19 defer the answer to that question to a thoracic  
20 surgeon.

21 Q And you're not going to give any opinions about  
22 the surgical outcomes?

23 A Right.

24 Q The risks, benefits, the morbidity, mortality?

25 4 Exactly correct

- 1 Q Do you know -- we agree in general<sup>ly</sup> that a patient  
2 with -- a morbidly obese patient<sup>is</sup> has a decreased  
3 life expectancy?
- 4 A I think that that's true.
- 5 Q And a patient with hypertension has a decreased  
6 life expectancy?
- 7 A I think that's true. I know it's true for  
8 untreated. I'm not sure if it's always true for  
9 treated. But it -- it's a disease with a lot of  
10 complications. It would be very hard to believe  
11 that even treated hypertensive patients would live  
12 as long as people who never have hypertension.
- 13 Q And what about people with vascular disease in  
14 general, do they have a decreased life expectancy?
- 15 A It depends on what vascular disease they have.  
16 Some do, some don't.
- 17 Q Aortic disease?
- 18 A They would in general have a decreased life  
19 expectancy.
- 20 Q Correct, I'm going to ask you to take a look at the  
21 chest x-ray, tell me what you think. You look at  
22 chest x-rays?
- 23 A I certainly do.
- 24 Q And as part of your office ER work when a  
25 radiologist isn't available?

A Even if they are available.

Q Do we have any viewboxes,?

3 A We don't.

4 Q Can you look at them in the florescent light?

5 A I can do this.

6 Q Doctor, what -- again, Doctor, we are here in your  
7 office. You don't have a viewbox?

8 A Correct.

9 Q And this is the first time you've looked at the  
10 chest x-rays done --

11 A I believe so.

12 Q -- of Deborah Monroe?

13 A Yes, sir.

14 Q Tell us what do you see in the area -- you're  
15 looking at the PA or AP chest x-ray?

16 A It appears to be a PA chest.

17 Q Okay.

18 A Yeah, and my answer to what it looks like, it  
19 looks pretty normal to me.

20 Q Okay. Now you have what is the lateral chest  
21 x-ray?

A Correct, and same feeling, it looks pretty normal  
23 to me.

Q And just for completeness sake, these are the  
25 abdominal x-rays taken on Mrs. Monroe.

1       A     I see nothing abnormal.

2       Q     Would you expect that Mrs. Monroe's pain would  
3             improve while she was in the emergency room while  
4             under the care of Dr. Maxfield?

5       A     There is no expectation on the pain course in  
6             patients like this. And I need to preface my  
7             answer by saying knowing that she had a dissection  
8             there is no expectation on the pain course. It is  
9             common for pain to be persistent, unremitting and  
10            minimally responsive to narcotic medication. It  
11            is common for the patient to be intermittent as  
12            the dissection progresses a centimeter and  
13            progresses and then stops again so you can't use  
14            the pain course as a marker for presence or  
15            absence of aneurysmal disease or dissection  
16            disease.

17      Q     If we can turn to the St. Joseph's records for a  
18             moment.

19      A     All right.

20      Q     The dictation, yes. Dr. Maxfield indicates, "That  
21             patient complains of sudden diffuse lower back  
22             pain, onset three hours prior to arrival. There  
23             had been no radiation of pain. There's been no  
24             tingling. There's been no numbness. There had  
25             been no weakness." Just taking those statements

1           there, assuming that Dr. Maxfield took this  
2           history accurately, would that indicate to him  
3           that the patient should be evaluated for a  
4           thoracic dissection?

5       A     No.

6       Q     He goes on to say, "The symptoms are aggravated by  
7           movement." Is that consistent or inconsistent  
8           with a thoracic dissection?

9       A     I don't think I know the answer to that. There's  
10          not very much data on how patients answer that  
11          question.

12      Q     Okay. "There has been no abdominal pain. Patient  
13          without any recent history of trauma. There has  
14          been no chest pain." Does that go -- does -- is  
15          that consistent or inconsistent with a thoracic  
16          dissection?

17      A     Up to that point that's inconsistent with it.

18      Q     It goes on to say that the condition has remained  
19          unchanged since it's onset. So, does that  
20          indicate to you that the patient is telling him  
21          one thing, yet the information he had received  
22          from Dr. Shah is different?

23      A     I think that would be the most likely explanation;  
24          is that he has two different sets of information.

25      Q     All right. Do you agree that his -- that his

1 physical examination was within the standard of  
2 care?

3 A Yes, I have no problem with that.

4 Q Was it within the standard of care to give the  
5 patient some pain medication?

6 A Sure.

7 Q Do you see his differential diagnosis down there?

8 A Yes.

9 Q And does it -- his differential diagnosis does  
10 that meet the standard of care?

11 A I don't think there is a standard for writing down  
12 a differential, so whatever you write down would  
13 probably be the same answer.

14 Q And do you see that he includes this aortic  
15 problem unlikely on the basis of history?

16 A Yes, I see he says that.

17 Q Going to the patient's labs, anything in the labs  
18 that raise a flag to you? Actually I think  
19 there's a printed out sheet. Keep going.

20 A Oh, great. Thank you. I'll just scroll through  
21 it here for a second. I would say nothing.

22 Q Going to urinalysis. There seems to be a large  
23 amount of blood in the urine. Is that consistent  
24 or inconsistent with a dissection?

25 A This is a female 39, she could be having a period.



1 I don't know. I don't know what it is.

2 Q If she was not having a period would that be  
3 consistent or inconsistent with the disse'ction?

4 A It would -- in the thoracic aorta it would be  
5 inconsistent with that. But .then again it would  
6 be consistent with other disease processes which  
7 were not addressed.in the narrative.

8 Q Such as?

9 A The narrative didn't say. It said kidney stones  
10 unlikely based on the history and the CT, but that  
11 is just a stone. There might be urological  
12 disease causing blood in the urine in the absence  
13 of a period. And so, with this blood in the urine  
14 which was read as large, you would still want to  
15 know what's wrong with the urinary tract and the  
16 way you would deal with that would be a referral  
17 to a urologist or back to the primary care  
18 physician and document that in the record. In  
19 addition you'd probably do a urine culture which I  
20 don't think was done. None of which is going to  
21 be relevant to the outcome of this case, but it --  
22 I mean now there's another issue of data which was  
23 not addressed. The first data not addressed is  
24 the information from Dr. Shah. The second data  
25 not addressed is the large amount of blood in the

1 urine.

2 Q Do you see that Dr. Maxfield told the patient to  
3 return to her family practice physician within  
4 three to four days?

5 A Yes, but it should be for a specific reason in  
6 this case. I mean that's a fine generic note. I  
7 have no problem with that, but is it should be  
8 there should be another sentence to draw the blood  
9 -- to see if you still have blood in your urine,  
10 so that the primary care physician when he gets  
11 the record knows that there is this issue that you  
12 have to look at. And since we're bringing it up  
13 in talking about this issue, the way you solve the  
14 problem and not get the patient to worry about all  
15 this is to do a catheterized urine specimen and  
16 check it for blood and which wasn't done  
17 Q Any other opinions in regard to the standard of  
18 care that we haven't already discussed?

19 A No, that's it

20 Q Your fees for reviewing cases?

21 A \$300 an hour for review, \$400 an hour for trial  
22 and deposition.

23 Q Number of hours in this case?

24 A Boy, I don't know, but I can come up with some --  
25 I think I sent two hours of bills so far now that

1 I remember, so \$600.

2 Q How many cases do you review on the average per  
3 year?

4 A Oh, go through the whole answer there. I have in  
5 twenty-five years looked at 800 files. Those  
6 files, some of them, in the range of fifty, would  
7 have been for insurance carriers and not for  
8 specific attorneys. Eighty percent of the work is  
9 defense, twenty percent is plaintiff. And in the  
10 last two years I probably have gotten somewhere  
11 between 30 and 35 cases.

12 Q How many depositions this year, 2002?

13 A I think this is my fourth. Well, I'm sorry. It's  
14 probably my sixth or seventh.

15 Q When was the last time you appeared at trial?

16 A Couple of months ago in -- somewhere in Detroit.  
17 I think Wayne County, yes, court, courthouse.

18 Q Did you appear for the plaintiff or the defendant?

19 A That was defendant.

20 Q Doctor, I don't have any further questions. I  
21 would ask you to see if you can -- what  
22 information you can find on any cases you reviewed  
23 from your computer system on aorta. Supply me  
24 with whatever information you have available for  
25 that. Okay?

1 A Sure will.

2 MS. TAYLOR-KOLIS,: I have a couple of  
3 questions I want to ask on redirect. I  
4 don't usually do that, but once in a while  
5 I do especially if defense counsel asks  
6 questions that don't lend themselves to  
7 refreshing your recollection that there  
8 are other things that you reviewed.

9

- - -

10 REDIRECT EXAMINATION.

11 BY MS. TAYLOR-KOLIS:

12 Q Doctor, can you identify what I'm handing you?

13 A This is the emergency nurse's notes for this  
14 patient in the emergency department.

15 Q All right. And those notes generated do they  
16 contain information which was history taken by the  
17 patient to the best of you're ability to recognize  
18 the same?

19 A Sure. This would be what the nurses recorded as  
20 what the patient told them.

21 Q Customarily in your emergency room does the nurse  
22 see the patient before you do?

23 A Almost all the time. Not every time, but most the  
24 time.

25 Q All right. In those notes what does the nurse

1 indicate that the patient's chief complaint is?

2 A Under chief complaint it says transfer from another  
3 area. It's Dr. Shah's urgent care area. With  
4 back pain and then the nurse goes on to say  
5 subjectively the patient states sudden onset of  
6 mid back pain, a throbbing pain.

7 Q Okay. Is the information contained in the nurse's  
8 notes something else that Dr. Shah -- Dr. Maxfield  
9 needed to take into consideration in doing a  
10 workup for the patient?

11 A I think, yes. I think the standard of care is to  
12 look at the nurse's notes and the standard of care  
13 is not necessarily to -- is not to -- let me  
14 rephrase that. I'm going to -- the standard of  
15 care is to do your own history and physical, but  
16 *you* also look at the nurse's notes.

17 Q And additionally, Doctor, handing you this  
18 document. Just identify what it is?

19 A This is an E.M.S. report and it's for Deb Monroe  
20 and it was looks like 16, July, '99, chief  
21 complaint is upper back pain and then they also  
22 refers to standing in the doctor's office  
23 complaining of an upper back pain, which the  
24 doctor's office I believe refers to the urgent  
25 care center. There is pain on palpation of the

1 upper back.

2 Q Okay. Do you -- if they're available, yourself do  
3 a reading of the emergency room reports when  
4 patients are transported, the run report?

5 A The run reports? Occasionally, but I will have to  
6 say I do not believe it is the standard of care  
7 that you read an E.M.S. report. There's a good  
8 reason for that; they're not available on a  
9 regular basis, timely basis.

10 Q Mr. Ockerman asked you a question about the ED,  
11 the emergency department, Doctor, dictation that  
12 was generated by Dr. Maxfield indicating the date  
13 of dictation was the date of the visit.

14 A Right.

15 Q Doctor, based upon your experience and training  
16 and your familiarity with hospitals can you tell  
17 us what your best opinion is to what kind of ED  
18 document that is?

19 MR. OCKERMAN: Objection.

20 A Well, it is an -- it is a dictation, but it's a  
21 dictation that's not done in, I guess what I call,  
22 free'speech in which you speak into a Dictophone.  
23 It is dictation that is an assisted one either by  
24 a voice activated dictation or a template system  
25 in which the computer adds phrases and words to it

1 based on a key word the physician adds.

2 Q Is it possible, to the best of your ability to  
3 know, for a person to enter back into the computer  
4 and change a date or words?

5 MR. OCKERMAN: Objection.

6 A Sure it is possible to do that. I believe there  
7 is an end point where you -- it finally goes away  
8 and it becomes a permanent part of the medical  
9 record, but up until that time I think it's  
10 possible to -- certainly is possible to change and  
11 edit because that's how the computer works.

12 MS. TAYLOR-KOLIS: Thank you. You've  
13 anything else?

14 - - -

15 RECROSS EXAMINATION

16 BY MR. OCKERMAN:

17 Q Doctor, do you work with these template dictation  
18 systems?

19 A I do not. Now, wait, let me be very honest about  
20 that. As you know I'm changing jobs here. I  
21 never worked with a template system at the Toledo  
22 Hospital. At the North Oakland Medical Center  
23 they had what's called a T-Chart which is a  
24 handwritten check-off type of chart. I cannot  
25 tell you what systems they are using at Mercy

1 Monroe right now. I don't know.

2 Q Let's go to the history. The history -- what is  
3 it that you're saying? Are you going to offer  
4 testimony that some of the words in the history  
5 were entered by the computer; and if you are,  
6 which ones?

7 A Well, I'll answer the second question first. I  
8 could not do that in the history without looking  
9 at the computer system. But I can give you the  
10 evidence for this being a computerized system.  
11 Midway through the first page it says the history  
12 of present illness, review of symptoms and past  
13 and social history are complete to the best the  
14 patient or the patient's representative was  
15 capable of reporting, et cetera, et cetera. That  
16 is stilted computer lingo that has to do with  
17 billing and it's going to be found on every single  
18 record in this -- in this system.

19 There's another piece there -- give me a  
20 moment and I'll find -- there is a piece in the  
21 history, which is classic for a computerized entry  
22 system, but I can't say with a hundred percent  
23 certainty, I can tell you it's probably 95 percent  
24 on the systems are aggravated by, on the third  
25 line it says, "colon movement". The way we



1 normally speak in to Dictaphones is not to say --  
2 it would be to say, quote, the symptoms are  
3 aggravated by my movement or not relieved by being  
4 still. You wouldn't say these symptoms are not  
5 relieved by color being still. But that is a  
6 classic finding in the computerized system.  
7 Q Is it below the standard of care to use these  
8 templates?

9 A Absolutely not.

10 Q Did you find any information within this dictation  
11 of Dr. Maxfield to be inaccurate?

12 A I would say in a positive sense probably no. In  
13 the next sense I would normally, if you get a  
14 patient that is transferred from another  
15 physician, you write down that doctor so and so  
16 sent me this patient because he was concerned  
17 about, for instance, a problem with aorta now  
18 because the history was A, B, C, D. E and then you  
19 go on with your own. That isn't here. So, I  
20 guess in terms of leaving that out I find that  
21 surprising.

22 Q Did the standard of care require Dr. Maxfield to  
23 put that in there?

24 A Let me just cogitate about that for a moment  
25 because that's a very interesting question. The

1           answer to that is I do not know because that would  
2           be a consensus and in order for me to be honest  
3           about standard I have to go and ask a lot of  
4           colleagues about that. But one week from now I  
5           bet I have the answer.

5       Q     But as you sit here today you can't say that?

7       A     I don't think I could say that honestly. I would  
8           have to ask. I think it is the standard, but I'm  
9           not going to make a statement that it is.

10                   MR. OCKERMAN:        I don't have any further  
11                   questions.

12       Q     Have I given you a fair opportunity to answer all  
13           my questions?

14       A     You certainly have.

15       Q     Thank you.

16                   MS. TAYLOR-KOLIS: We'll read.

17                   (Deposition concluded at 9:54 a.m.)

18

19

---

BRUCE D. JANIAK, M.D.

20

- - -

21

22

23

24

25

C E R T I F I C A T E

[illegible]

I, Julie K. Latham, Registered Merit Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that BRUCE D. JANIAK, M.D. was by me first duly sworn; that the testimony then given was by me reduced to stenotype, afterwards transcribed upon a computer; that the foregoing is a true and correct transcript of the testimony so given as aforesaid; that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, employee, or attorney of any of the parties or counsel employed by the parties hereto or financially interested in this action, nor am I or the court reporting firm with which I am affiliated under a contract as defined in Civil Rule 28(D).

IN WITNESS WHEREOF, I have hereunto set my  
hand and affixed my notarial seal of office at Toledo,  
Ohio, this 13th day of September, 2002.

Julie K. Latham  
JULIE K. LATHAM, RMR

Notary Public in and for the  
State of Ohio

My Commission expires February 3, 2004.