

In The Matter Of:

*Shirley Bolden vs. St. Lukes Hospital
Court of Common Pleas, Cuyahoga County, Ohio*

*Deposition of Bruce Janiak, M.D.
December 15, 1992*

*Mehler & Hagestrom
Court Reporters
1750 Midland Building
Cleveland, OH 44115
(216) 621-4984*

Original File JANIAC.V1, 98 Pages

Word Index included with this Min-U-Script®

Page 1

IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO
SHIRLEY BOLDEN,
ADMINISTRATRIX, ETC.,
Plaintiffs,
JUDGE CLEARY
-vs- CASE NO. 217,592
ST. LUKE'S HOSPITAL,
ET AL.,
Defendants.
Deposition of BRUCE JANIAC, M.D., taken as if
upon cross-examination before Linda A. Astuto, a
Registered Professional Reporter and Notary
Public within and for the State of Ohio, at the
offices of The Toledo Hospital, 2142 North Cove
Boulevard, Toledo, Ohio, at 9:30 a.m. on
Tuesday, December 15, 1992, pursuant to notice
and/or stipulations of counsel, on behalf of the
Plaintiffs in this cause.
MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

Page 2

APPEARANCES:
David Paris, Esq.
Harley Gordon, Esq.
Nurenberg, Pievin, Heiler & McCarthy
First Floor
1370 Ontario Street
Cleveland, Ohio 44113
(216) 621-2300,
On behalf of the Plaintiffs;
Victoria L. Vance, Esq.
Arter & Hadden
1100 Huntington Building
Cleveland, Ohio 44115
(216) 696-1100,
On behalf of the Defendant
St. Luke's Hospital;
Alan B. Parker, Esq.
Reminger & Reminger
7th Floor 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311,
On behalf of the Defendant
Emergency Department Physicians,
Dr. Barron and Dr. Baumgartner

Page 3

[1] BRUCE JANIAC, M.D., of lawful age,
called [2] by the Plaintiff for the purpose
of [3] cross-examination, as provided by
the Rules of [4] Civil Procedure, being by
me first duly sworn, [5] as hereinafter
certified, deposed and said as [6] follows:
[7] CROSS-EXAMINATION OF BRUCE
JANIAC, M.D.
[8] **BY MR. PARIS:**
[9] **Q:** Doctor, my name is David Paris
and I'm one of [10] the lawyers that rep-
resent Thelma Lloyd in [11] connection
with this litigation.
[12] I'd like to ask you some questions this
[13] morning about your background,
about the [14] opinions that you have in
regard to the care and [15] treatment that
she received at St. Luke's [16] Hospital.
[17] I will try to keep my questions [18]
understandable, but being a layman and
not a [19] physician, I hope that you'll
work with me. If [20] my questions are
inartfully phrased, or [21] convoluted or
not understandable, will you do [22] that?
[23] **A:** I promise.

[24] **Q:** Okay. I'd like to hand you some-
thing that has [25] been given to me and
represents your curriculum

Page 4

[1] vitae.
[2] Is that updated? Is that current?
[3] **A:** Hang on just a second. Actually this
one looks [4] a little bit old. It has several
missing [5] children and it doesn't have
the fact that I was [6] president of the
American Board of Emergency [7]
Medicine. So this is a little bit old.
[8] **Q:** When were you president of that
organization?
[9] **A:** Three years ago.
[10] **Q:** For how long? One year?
[11] **A:** One year.
[12] **Q:** So 1989?
[13] **A:** 1990 to '91.
[14] **Q:** Okay.
[15] **A:** Mid-year to mid-year.
[16] **Q:** Any other additions, modifica-
tions?
[17] **A:** I don't think there's anything sig-
nificant.
[18] **Q:** Have you written anything,
presented any papers, [19] any publica-
tions dealing with diagnosis and [20]
treatment of acute myocardial infarc-
tions?
[21] **A:** I have not.
[22] **Q:** Have you written any publica-
tions or presented [23] any papers deal-
ing with emergency care of [24] patients
with acute myocardial infarctions?
[25] **A:** I have not.

Page 5

[1] **Q:** Do you now or have you ever had
any privileges [2] at St. Luke's Hospital in
Cleveland, Ohio?
[3] **A:** I have not.
[4] **Q:** Do you know Dr. Bass?
[5] **A:** I do not.
[6] **Q:** Do you know Dr. Hoke?
[7] **A:** I do not.
[8] **Q:** Dr. Seballas?
[9] **A:** No.
[10] **Q:** Dr. Barron?
[11] **A:** No.
[12] **Q:** Do you know Dr. William Boden
personally or by [13] reputation?
[14] **A:** It seems I may have heard his
name before but I [15] can't remember
where.
[16] **Q:** Do you know what his specialty
is?
[17] **A:** I guess pathology but I'm not
sure.
[18] **Q:** Do you know Dr. Greenblatt?
[19] **A:** I do not.

[20] **Q:** Goldblatt. Dr. Peter Goldblatt?
[21] **A:** That sounds like a cardiologist.
[22] **Q:** Okay. These are not trick ques-
tions.
[23] **A:** These aren't trick answers. It's be-
cause you [24] mentioned a name like
that, obviously there are [25] a lot of
similar names. That sounds like a

Page 6

[1] gentleman that I probably read an
article or two [2] or reviewed an article.
[3] **Q:** Okay.
[4] **A:** There are, I'm sure, Goldblatts in
every [5] specialty.
[6] **Q:** Dr. Geoffrey Mendelsohn?
[7] **A:** No, I don't know him.
[8] **Q:** Dr. Ralph Lach?
[9] **A:** No.
[10] **Q:** Dr. Michael Frank?
[11] **A:** I know a Michael, I think a
Michael Frank, an [12] emergency room
physician somewhere in Ohio years [13]
ago. If there was a Michael Frank who at
one [14] point in his life sat on the Board
of Directors [15] of the Ohio Chapter of
Emergency Physicians, [16] that is
probably the same Michael Frank.
[17] **MS. VANCE:** I think we're talking [18]
about one and the same.
[19] **Q:** You've had no contact with him
except for [20] several years ago, I take it?
[21] **A:** Probably 10 years since I've seen
him.
[22] **Q:** Do you know a Dr. David Cooke?
[23] **A:** Does not sound familiar.
[24] **Q:** Dr. Kenneth McCarty?
[25] **A:** No.

Page 7

[1] **Q:** Dr. Jerome Aarons?
[2] **A:** No.
[3] **Q:** I take it you have consulted as an
expert [4] witness over the years?
[5] **A:** Yes, I have.
[6] **Q:** When did you first begin consult-
ing?
[7] **A:** I think the first case I did was in
the mid [8] seventies, perhaps '76, '77 in
that range.
[9] **Q:** And on an average yearly basis,
about how many [10] cases did you con-
sult with in the medical [11] malpractice
context?
[12] **A:** Let's see. Probably an average of
four to five [13] a year.
[14] **Q:** This is just reviewing cases, it's
not [15] necessarily giving testimony,
preparing [16] reports.
[17] **A:** Exactly, right.
[18] **Q:** So for the past 15 years ballpark-
ing it, [19] somewhere in the range of
between 50 and 75, 60 [20] and 75?

[21] **A:** I'd say probably 80 cases in that time.
[22] **Q:** Not all of these cases, I take it, are cases [23] that you ultimately gave depositions on, is that [24] correct?
[25] **A:** That is correct.

Page 8

[1] **Q:** Not all of these cases are ones in which you [2] prepared expert reports on?
[3] **A:** That is also correct.
[4] **Q:** Have you consulted in cases other than medical [5] malpractice cases?
[6] **A:** Yes.
[7] **Q:** And what types of cases?
[8] **A:** There were two civil cases that dealt with, one [9] was an injury that occurred on the school ground [10] and another was an automobile accident.
[11] **Q:** Do I understand just two over the past 15 years?
[12] **A:** That's all I can think of.
[13] **Q:** Okay. I just wanted to get your familiarity [14] with the medical/legal system.
[15] **A:** Sure.
[16] **Q:** Have you kept track approximately what [17] percentage of your consultations are with [18] plaintiffs as distinguished from defendants?
[19] **A:** I would say the ratio is probably five to one [20] defendant/plaintiff.
[21] **Q:** Five times more for defendant than with the [22] plaintiff?
[23] **A:** I think that's pretty accurate.
[24] **Q:** Have you ever consulted with the law firm of [25] Arter & Hadden prior to this case?

Page 9

[1] **A:** I don't believe so.
[2] **Q:** How about for the law firm Reminger & Reminger?
[3] **A:** Yes.
[4] **Q:** Do you recall who specifically you've worked [5] with over there?
[6] **MR. PARKER:** Let me make a [7] continuing objection.
[8] **A:** Mr. Spisak is the name that comes to mind.
[9] **Q:** Do you recall approximately how many times [10] you've consulted with that firm?
[11] **A:** I think twice.
[12] **Q:** Have you consulted with any other law firms in [13] the Cleveland area?
[14] **A:** Well, that's a good question. I don't know the [15] answer. I just don't know.
[16] **Q:** Have you ever consulted with, for example, [17] Jacobson, Maynard?

[18] **A:** That is one of the thoughts I have. I have [19] consulted with them, and I know I've consulted [20] with them in Kentucky and in, I think something [21] in Southern Ohio but I don't remember. For sure [22] if there was one in Cleveland.

[23] **Q:** Have you ever consulted on any cases involving [24] St. Luke's Hospital in Cleveland, Ohio?

[25] **A:** No, I don't think so.

Page 10

[1] **Q:** Have you ever consulted with any plaintiff's law [2] firms in Cleveland, Ohio?
[3] **A:** No, I don't think so.
[4] **Q:** Have any of the occasions that you have [5] consulted with lawyers on cases prior to today [6] involved the diagnosis and care and treatment of [7] a potential acute myocardial infarction?
[8] **A:** Yes.
[9] **Q:** Do you recall the name of that case?
[10] **A:** No, I don't.
[11] **Q:** Do you recall how many cases where you were so [12] consulted?
[13] **A:** Well, I can't imagine it was one or less because [14] as we all know, myocardial infarction problems [15] are frequent litigation issues.
[16] I would say probably three cases dealt with [17] myocardial infarction in some form or another.
[18] **Q:** Do you recall any of the particulars about any [19] of those cases?
[20] **A:** Well, one I do.
[21] **Q:** When, try to confine the question to where the [22] case was pending, what county, the law firms [23] involved and/or the issues presented.
[24] **A:** Well, without a review of the files, I'm not [25] sure I could answer the question very

Page 11

[1] accurately.
[2] It was a case in Dayton, it was an [3] automobile accident in which there was a thought [4] that the patient had a myocardial infarction and [5] the physicians paid more attention to the [6] injuries than the possibility of heart attack.
[7] And I just can't think of the name of the [8] law firm right now in Dayton but it will [9] probably come to me in another minute or so. [10] Jinks & Cowdrey.
[11] **Q:** Anything else about the other cases?
[12] **A:** Just nothing is coming to mind.
[13] **Q:** Do you keep files on the cases that you have [14] consulted on?
[15] **A:** Once a case is over, if the firm requests that I [16] return all the

materials, then I do. If not, [17] then I do keep them and they're all in my attic [18] at home.

[19] **Q:** You have the ability to make a determination of [20] the cases that you may have in your attic that [21] dealt with this issue before?

[22] **A:** Just manually. I mean those are not filed in a [23] filing cabinet. They are sort of in a file. I [24] would have to go through each folder and see [25] what the issues are in each case. Certainly I

Page 12

[1] have that ability.
[2] **Q:** Would you mind doing that?
[3] **MS. VANCE:** Arrangements would have [4] to be made to compensate the doctor for any [5] time he spent.
[6] **A:** I would not mind doing that if you agree to [7] compensate me because it will take some time and [8] I respectfully request that you wait until after [9] the holiday season. But I'll be happy to do [10] that.
[11] **MS. VANCE:** We'll talk further [12] about that. We'll make the arrangements.
[13] If that is something that they, upon [14] further reflection, want to pursue, we'll [15] discuss it.
[16] **Q:** You've given testimony on occasions prior to [17] today?
[18] **A:** I have.
[19] **Q:** And how many times do you think you've given [20] testimony total?
[21] **A:** Probably 25.
[22] **Q:** And of those occasions, how many were in a [23] courtroom setting?
[24] **A:** I think four or five.
[25] **Q:** And how many — and the rest were in a

Page 13

[1] deposition setting?
[2] **A:** Right.
[3] **Q:** Doctor, in your file — are you able to tell [4] from your file when you were first contacted [5] with respect to this case?
[6] **A:** Not without picking up the file and going [7] through the papers.
[8] **Q:** All right. Let's do that.
[9] **A:** Well, it was prior to August of 1992. My guess [10] it was in the early part of this year.
[11] **MS. VANCE:** Actually not. As you [12] know, David, I only entered the case the [13] beginning of July. It was in that window [14] of time between the month of July and [15] August. We know the date of his report, so [16] it is a date in the month of July or [17] August.
[18] **Q:** Would you agree with Miss Vance's [19] representation?
[20] **A:** Sure.

[21] Q: What were you requested to do?
[22] A: I was requested to review this case with [23] specific focus on whether or not there were, in [24] my opinion, any violations of the COBRA statutes [25] with regard to patient transfer.

Page 14

[1] Q: What documents were you provided with?
[2] A: Deposition of a Dr. Bass and a deposition of a [3] Dr. Barron. Plaintiff's expert witness reports [4] from Dr. McCarty, Dr. Silberman, Dr. Aarons and [5] Dr. Cooke. Autopsy report on the patient. [6] Emergency department records and records of the [7] observation unit admission at St. Luke's [8] Hospital.
[9] Q: That would be the 12/17/90 records?
[10] A: Right. And then some medical records from [11] MetroHealth Medical Center from the 21st to the [12] 22nd of December, 1990.
[13] MS. VANCE: And you also had the [14] autopsy report?
[15] MR. PARIS: Yes, he said that.
[16] Q: I take it, Doctor, you did not review Mrs. [17] Lloyd's medical records from 1978 to 1990 from [18] the Kenneth Clement Center?
[19] A: No, I haven't seen that.
[20] Q: You did not review the actual GI films taken at [21] St. Luke's on December 17, 1990?
[22] A: That is correct.
[23] Q: You did not look at the actual chest x-ray taken [24] on December 17, 1990?
[25] A: That is correct.

Page 15

[1] Q: You did not look at the pathology slides of [2] Thelma Lloyd's autopsy?
[3] A: That's correct.
[4] Q: You did not review the deposition of Shirley [5] Bolden?
[6] A: Correct.
[7] Q: Dr. Brenda Smith?
[8] A: Correct.
[9] Q: Dr. Ahmet Hoke?
[10] A: Correct.
[11] Q: Dr. Seballas?
[12] A: Correct.
[13] Q: Did you review any of the protocols of the [14] emergency room at St. Luke's Hospital?
[15] A: Yes, I did. Well, I'm not sure that I did. [16] I've reviewed something that was a protocol. [17] I'm not sure, I don't know if I have it. I'm [is] not sure it was an emergency department [19] protocol. I think it dealt with the [20] observation,

the 23 hour observation policies as [21] near as I remember.
[22] Q: Do you have that handy as part of your file?
[23] A: Well, I'll look again. I didn't see it.
[24] Q: Yes, that's why I mentioned it because I didn't [25] see it either.

Page 16

[1] A: I remember seeing it but I don't know where it [2] is given the fact I could skip over it looking [3] like this. I don't think it's in here.
[4] Q: I may be calling it something that it's not. It [5] may not be a protocol. It could be a standard [6] or guideline.
[7] A: Right.
[8] Q: Did you review any such protocol, standards or [9] guidelines relative to admission to the coronary [10] care unit at St. Luke's Hospital?
[11] A: I did not.
[12] Q: How about to their stepdown telemetry unit?
[13] A: I did not.
[14] Q: How about with regard to their pathology or [15] laboratory?
[16] A: No.
[17] Q: Did you see a document called the laboratory [18] summary sheet from St. Luke's Hospital? Let me [19] show you that. It is a document that has [20] previously been marked as Plaintiff's Exhibit [21] 4. It's the second page that I would be [22] interested in knowing if you saw that before.
[23] A: I honestly don't remember seeing it. If I did, [24] I wouldn't be surprised that I did. I just [25] don't have a memory of it.

Page 17

[1] MS. VANCE: So you are clear, he [2] has not seen that specific sheet that you [3] have put before him but I have sent him [4] policy and procedure manuals relevant to [5] the admission to the cardiovascular unit, [6] the cardiac stepdown unit and that the [7] patient is admitted on an observation [8] status.
[9] Those are sent to him. Obviously, [10] just so you are clear as to what he has or [11] has not seen.
[12] His other statements about not seeing [13] the other depositions is accurate, nor has [14] he been provided with the actual autopsy [15] slides or the films, other than the reports [16] that are reported in the hospital record.
[17] Q: Will you adopt what Miss Vance said as accurate, [18] Doctor, as to what you've seen?
[19] A: Sure. If she said she sent me that. I remember [20] the observation unit policy.

[21] Q: Did you receive all these materials in one lump [22] package or did you receive materials [23] periodically?
[24] A: I received more than one set. I think two.
[25] Q: Two packages?

Page 18

[1] A: Yes.
[2] Q: Do you know whether or not your report, which is [3] dated whatever date it has on it, was prepared [4] after receiving both packages from Miss Vance?
[5] A: The observation unit document that I referred [6] to, I did not have that prior to writing my [7] report.
[8] Q: And your report is dated August 28, 1992.
[9] Is it your recollection that you received [10] the 23 hour observation unit materials after you [11] prepared that report?
[12] A: Yes.
[13] Q: Anything else that you received after preparing [14] your report?
[15] A: Nothing other than I'm sure there was a cover [16] letter with the observation unit material. But [17] that was it.
[18] Q: Did you obtain or review a deposition of a lab [19] technician Matousek?
[20] A: No.
[21] Q: Did you review a report from an expert by the [22] name of Dr. Watts?
[23] A: Not familiar with that.
[24] Q: Dr. Michael Frank?
[25] MS. VANCE: No. He's only seen the

Page 19

[1] plaintiff's expert report, not [2] co-defendants.
[3] MR. PARIS: I understand. I just [4] wanted to hear it from the doctor, what he [5] has reviewed and not reviewed.
[6] Q: Is there anything else that you have reviewed in [7] connection with this case that I have not [8] addressed or that is not contained in your [9] package of materials?
[10] A: Well, there are some materials and knowledge [11] that I reviewed before the case ever occurred. [12] It had nothing to do with the case at the time. [13] Materials that I read, COBRA regulations, things [14] like that, but they are not in connection with [15] this. I looked at that before the case.
[16] Q: You had familiarity with that before you were [17] even approached by Miss Vance, is that correct?
[18] A: That's correct.
[19] Q: Did you go back and do any additional research [20] after you were approached by Miss Vance?

[21] **A:** I just looked at the COBRA regulations again.
[22] **Q:** Where did you find those regulations?
[23] **A:** I think they were in a publication that was, or [24] in a letter or something that came from the [25] American College of Emergency Physicians, plus

Page 20

[1] an article that was written by an attorney about [2] the COBRA regulations.
[3] **Q:** An article written by which attorney?
[4] **A:** Timothy Krugh.
[5] **Q:** C-r-e-w?
[6] **A:** K-r-u-g-h.
[7] **Q:** Where is that article found?
[8] **A:** Now, I don't know. I'm not sure where it was [9] published. I just know Mr. Krugh, he sent me a [10] draft copy of it.
[11] **Q:** Do you have that handy?
[12] **A:** Well, I don't know if I do or not.
[13] **MS. VANCE:** If it's a draft [14] manuscript, I don't know where it stands in [15] the publication process. I would be [16] hesitant, without Mr. Krugh's permission, [17] to be circulating that.
[18] **A:** He asked me to look at it prepublication and [19] comment on it, which is what I did. But I think [20] I sent it back to him along with the comments.
[21] **Q:** You were asked — an attorney sent you a draft [22] of a publication, a draft of something that he [23] wanted to publish.
[24] **A:** Uh-huh.
[25] **Q:** Dealing with COBRA.

Page 21

[1] **A:** That's correct.
[2] **Q:** And wanted your input?
[3] **A:** That's correct.
[4] **Q:** And you made some comments and you sent it back [5] to the attorney, is that right?
[6] **A:** Yes, sir.
[7] **Q:** Do you know where he intended to publish that [8] article?
[9] **A:** In a law journal but I don't know. I'm not that [10] familiar with law journals. So I don't know.
[11] **Q:** Do you have that letter or publication from the [12] American College of Emergency Physicians where [13] you obtained some knowledge of COBRA?
[14] **A:** I'm sure it's somewhere in a file. I could ask [15] my secretary to see if there is anything in the [16] file under COBRA.
[17] **Q:** I'd like that. I would like to get an [18] understanding of what your knowledge is of [19] COBRA.

[20] **A:** You may be making an assumption that my [21] knowledge is equal to what I read. I will ask [22] my secretary to see what I can find.
[23] **Q:** Sure.
[25] (Thereupon, a recess was had.)

Page 22

[2] **MS. VANCE:** This is Mr. Krugh's [3] article.
[4] **MR. GORDON:** He's not with your law [5] firm, is he?
[6] **MS. VANCE:** No.
[7] **MR. PARIS:** I'd like to take a look [8] at that.
[9] **MS. VANCE:** I'm not at liberty to [10] release this draft of Mr. Krugh's article, [11] which is a substantial — I'm looking at [12] his cover letter.
[13] **MR. PARIS:** You're paging through [14] the article.
[15] **MS. VANCE:** I am trying to find out [16] how long it is. There are several [17] footnotes and he has a cover letter dated [18] March 27, 1991 to Dr. Janiak.
[19] I've not looked at it. I've never [20] seen it in published form anywhere. So I [21] don't feel at liberty to release that to [22] you at this point. There is another [23] newspaper journal that Dr. Janiak has on [24] the subject.
[25] **MR. PARIS:** Let me see if I

Page 23

[1] understand.
[2] **Q:** Is some of the knowledge you profess to have [3] about COBRA derived from the article given to [4] you by Attorney Krugh?
[5] **A:** No, I don't think so. I think he was looking [6] for my input to see whether, how I felt about [7] his interpretations of COBRA.
[8] **Q:** I'm sorry?
[9] **A:** I'm sorry, based on my experience and knowledge [10] that I had gotten from serving as president of [11] our college and president of our board, which [12] not only are issues like this discussed [13] frequently in little news letters that come out, [14] but also there's actual incidents that happen to [15] colleagues, so you discuss them at meetings.
[16] **Q:** Did you prepare any notes in connection with the [17] narrative report that you made?
[18] **A:** Well, the only notes that I have are the [19] markings —
[20] **Q:** The markings on the cover of Dr. Barron's [21] deposition and Dr. Bass' depositions, is that [22] right?
[23] **A:** That's correct.
[24] **Q:** Did you make some markings within those [25] depositions on various page numbers, I take it?

Page 24

[1] **A:** Sometimes.
[2] **Q:** Quotations or something?
[3] **A:** What would be on the page that's referenced [4] would be a circled paragraph or a mark.
[5] **Q:** And I take it that means that there's something [6] significant in there that had some bearing on [7] your opinions?
[8] **A:** Well, that's not necessarily true. When I read [9] them, if something strikes me as possibly [10] significant, then I would circle it. I would [11] have to decide afterwards really what is [12] significant.
[13] **Q:** And did you make any other reports than the one [14] that I have?
[15] **A:** No, sir. That's it.
[16] **Q:** That's your first and only?
[17] **A:** Yes, sir.
[18] **Q:** No drafts?
[19] **A:** No drafts.
[20] **Q:** Have you discussed this case with anyone other [21] than Miss Vance?
[22] **A:** No, I haven't.
[23] **Q:** Have you done any independent research on [24] cardiology?
[25] **A:** None.

Page 25

[1] **Q:** Have you done any independent research on any of [2] the other legal issues in this case after you [3] were retained by Miss Vance?
[4] **A:** The answer to that is, just to be totally honest [5] is yes, but I'll explain to you what I looked [6] at. It was merely some other institution's [7] approaches to observation because we at this [8] hospital were setting up such a unit, an [9] observation unit.
[10] So I did look at things that dealt with [11] observation units but their purpose was because [12] we were setting one up ourselves this fall, not [13] because of this case.
[14] **Q:** Can you tell me what, I would like to try to [15] define some terms so we can use them hopefully [16] in the same fashion throughout the rest of this [17] deposition.
[18] What is your understanding of AST, that lab [19] value?
[20] **A:** It's actually kind of an old term. It is a new [21] enzyme. It's a new letter designation for an [22] enzyme, serum enzyme test.
[23] **Q:** What would be the old designation?
[24] **A:** It seems to me it relates to the SGOT or the [25] serum glutamic oxaloacetic transaminase.

Page 26

[1] Q: SGOT. What is the purpose of that test, [2] obtaining that lab value, running that test?

[3] A: I don't know that I could tell you. I never [4] order it. Other doctors order it, so you'd have [5] to ask them.

[6] Q: What about LDH, what is that?

[7] A: It's a nonspecific enzyme that goes up when [8] there is tissue damage and I never order it.

[9] Q: Is that part of any other labs that are [10] customarily ordered by you in an emergency room [11] setting?

[12] A: No.

[13] Q: What is the CPK?

[14] A: CPK is an enzyme that the C is creatine, PK is [15] phosphokinase. It is, I guess you could call it [16] a generic muscle enzyme.

[17] Q: Do you ever order it?

[18] A: I don't think so.

[19] Q: Do you know why the CPK values rise or elevate?

[20] A: Oh, they rise and elevate whenever there is [21] damage to muscle tissue.

[22] Q: Isoenzymes, what are those?

[23] A: There are several different kinds. Which one?

[24] Q: In connection with CPK.

[25] A: There is a subset of CPK which relates to the

Page 27

III muscle which is in the heart, cardiac muscle.

[2] Q: And what is the purpose of ordering isoenzymes [3] in connection with the CPK?

[4] A: Usually it's to try to make a determination as [5] to whether or not there's an abnormal level of [6] such enzymes, and then to correlate that [7] clinically with what is happening to the [8] patient.

[9] Q: Ever order it?

[10] A: Yes.

[11] Q: Can you order isoenzymes without ordering a CPK [12] at this institution?

[13] A: Sure.

[14] Q: Is it your custom and habit to order cardiac [15] isoenzymes without ordering a CPK?

[16] A: Yes.

[17] Q: In what context would you order isoenzymes?

[18] A: Well, various contexts. Primarily it is one in [19] which a patient is suffering with complaints [20] that could be related to the heart, and that [21] same patient also has no evidence of any [22] cardiovascular instability and has a nor-

mal [23] electrocardiogram or electrocardiogram that has [24] no acute injury pattern on it.

[25] MR. PARIS: Could you read that

Page 28

[1] answer back?

[3] (Thereupon, the requested portion of [4] the record was read by the Notary.)

[6] Q: And that is one of the contexts in which you [7] order cardiac isoenzymes?

[8] A: Right.

[9] Q: When you use the term instability of vital [10] signs, what do you mean by that term or terms?

[11] A: I mean that on repeated measurements of vital [12] signs, and in this instance we're referring to [13] pulse and blood pressure, that there is abnormal [14] variation in the measurements, the results are [15] divergent in what I would consider to be by [16] abnormal amounts.

[17] Q: Is that to suggest that any abnormality means [18] that the patient would be unstable by your [19] definition?

[20] A: Any abnormality, anything that I would determine [21] in my judgment to be an abnormality or variation [22] would be unstable.

[23] Technically speaking a blood pressure of [24] zero and a pulse of zero taken at 9:00, and the [25] same measurements zero, zero at 9:15, that

Page 29

[1] patient is stable, dead but stable.

[2] And although it sounds humorous, the reason [3] I say that and emphasize that is when I teach [4] it, I do not believe that one blood pressure and [5] pulse, regardless, is evidence of using the word [6] stable in the chart.

[7] Stability means to me that things are [8] staying the same when they're measured [9] repeatedly.

[10] Q: So then have we also just defined stable?

[11] A: I guess we did.

[12] Q: All right. Doctor, would you tell us what you [13] mean by reasonable medical certainty or what [14] your understanding of reasonable medical [15] certainty is? You did not use that term in your [16] report, but would you tell me what your [17] understanding of that term is?

[18] A: I think in the context in which we're all [19] sitting, it means that in my judgment or in the [20] judgment of an expert that there is greater than [21] 50 percent probability of an event occurring.

[22] Q: And what is your understanding of what a CHEM 12 [23] is, it consists of?

[24] A: I have no understanding of that.

[25] Q: And when you use determination, Doctor, would

Page 30

[1] you tell us what you mean by that and define it?

[2] A: Well, determination I think would indicate that [3] there is inability but it is in a negative [4] direction. It's towards worsening of a disease [5] process.

[6] Q: What is amylase?

[7] A: It's another chemical found in the blood, enzyme [8] chemical which will change with certain disease [9] processes.

[10] Q: Have you ever ordered it?

[11] A: Yes.

[12] Q: Under what circumstances?

[13] A: When I have a patient that I think may have a [14] disease process that would be associated with an [15] elevation of amylase.

[16] Q: For example?

[17] A: Parotitis and pancreatitis.

[18] Q: Have you ever ordered that stat from your lab?

[19] A: I don't believe I ordered it any other way but [20] stat.

[21] Q: Have you ever ordered cardiac isoenzymes stat?

[22] A: Yes.

[23] Q: Why?

[24] A: Primarily because — I guess I ought to be very [25] specific, none of the tests in my emergency

Page 31

[1] department have the word stat after them because [2] any test ordered in my department is considered [3] to be stat.

[4] In order to have a stat on not stat [5] routine, an emergency physician must [6] specifically request that. So all tests ordered [7] are stat.

[8] Q: Unless specifically indicated routine or ASAP or [9] do you have a designation for ASAP at this [10] institution?

[11] A: Do not.

[12] Q: Do you have a designation as routine?

[13] A: I believe so. I've never seen a book with that [14] in it but I would guess there would be such a [15] thing.

[16] Q: And tell me, I don't know if you answered my [17] question, what is the purpose of ordering [18] cardiac isoenzymes stat?

[19] A: That really is an excellent question and [20] probably one that would be the subject of a [21] several day conference. However, I'll try to [22] deal with it.

[23] I don't think there is any different reason [24] to order cardiac isoenzymes stat from any other [25] test stat.

Page 32

[1] All you are saying you would like to get [2] the results as soon as they can get it to you. [3] Your message is to drop all your work and focus [4] on mine.

[5] In the emergency room we try to get them as [6] soon as possible.

[7] Q: Are there, are you familiar with how the lab [8] runs cardiac isoenzymes in this institution?

[9] A: Yes.

[10] Q: How are they run?

[11] A: They're run on a scheduled basis. I believe [12] they've changed this recently. I believe it's [13] three times a day and not, I think it was four [14] times a day.

[15] I think the times are 6:00 p.m., in the [16] 6:00 to 7:00 p.m. range in the early afternoon, [17] and early morning, like midnight to 1:00 a.m.

[18] Q: Directing your attention to your report, Doctor, [19] are all of the opinions that you have in [20] connection with this case expressed in your [21] report?

[22] A: All of the ones that I was asked to render with [23] regards to this, yes.

[24] Q: Do you have any other opinions with regard to [25] this case that have not been expressed in your

Page 33

[1] report?

[2] MS. VANCE: The only opinions he is [3] expressing are those which he is expected [4] to be asked at trial. I've not asked him [5] any personal opinions he might hold on any [6] other aspect of the case, with the [7] exception of the alleged COBRA violations.

[8] He has not been asked to undertake a [9] review, for example, of the care rendered [10] by the emergency room physicians in [11] consideration of whether or not it meets or [12] complies with any standard of care. He may [13] well have opinions on that. He is not [14] going to be asked to express them. That is [15] not why he was retained in this case.

[16] I don't even know that he's reviewed [17] the case with that thought in mind. He's [18] looked at the case from the standpoint of [19] COBRA violations and that is essentially [20] what is set forth in the report.

[21] Obviously reports do not substitute [22] for depositions. But insofar as the rules [23] of evidence limits your discovery [24] deposition of this defense expert to those [25] opinions which he will be asked to express

Page 34

[1] on direct exam at trial, I think you're [2] generally confined to the subject of the [3] COBRA violations as set forth in the [4] report.

[5] MR. PARIS: To the extent there is [6] factual overlays between what can [7] constitute a COBRA violation and what can [8] be a departure from accepted standards of [9] care, I'm going to pursue what I think I [10] have to pursue to cover it.

[11] MS. VANCE: We'll take the [12] questions obviously one at a time.

[13] Q: Doctor, are you, do you have any opinion whether [14] or not the emergency room doctor, Dr. Barron, [15] deviated from accepted standards of medical care [16] in the treatment of Thelma Lloyd?

[17] MS. VANCE: I just answered that, I [18] said he is not going to be asked to express [19] any opinions on that subject.

[20] MR. PARKER: I object. I don't see [21] how that relates to COBRA. It does not [22] express standards in standards of care.

[23] MS. VANCE: It is a matter of [24] whether the patient is stable or not, and [25] as you well know, that is the orientation

Page 35

[1] he brought to the case.

[2] MR. PARIS: I understand the [3] doctor's answer would be no?

[4] MS. VANCE: The doctor has not [5] reviewed the case nor has he been asked to [6] express any opinions as to whether or not [7] any individual physicians deviated or [8] violated any standards of care or complied [9] with it.

[10] MR. PARIS: He is not going to be [11] expressing any opinions on that question?

[12] MS. VANCE: No. He's not.

[13] MR. PARIS: Same as it relates to [14] the deviations by the staff physicians?

[15] MS. VANCE: Alleged deviations, [16] same answer. He is not looking at that. [17] He is looking at what COBRA is, which is [18] not medical malpractice.

[19] MR. PARIS: I take it the doctor [20] has no opinions as to whether any alleged [21] deviation by either the ER doctor or the [22] staff physicians were a proximate cause of [23] Thelma Lloyd's ultimate death, is that [24] correct?

[25] MS. VANCE: He is looking at the

Page 36

[1] status of the patient as she departed from [2] the St. Luke's Hospital and whether or not [3] upon discharge and upon transfer, as that [4] term is used in the statute, there was a [5] COBRA violation.

[6] He's not looked into, he's got the [7] records, I provided him with everything, he [8] is not going to be expressing opinions [9] about proximate causation.

[10] MR. PARIS: I take it the doctor is [11] not going to be expressing an opinion as to [12] Thelma Lloyd's life expectancy, various [13] type of cardiac care?

[14] MS. VANCE: That is exactly right, [15] he will not.

[16] Q: Doctor, do you have an opinion based upon a [17] reasonable degree of medical certainty as to [18] whether Thelma Lloyd was having an acute MI [19] while at St. Luke's Hospital on December 17, [20] 1990?

[21] A: I haven't really looked at it from that [22] standpoint but give me a moment just to think [23] about that because I have reviewed the [24] materials, so I could discuss that.

[25] Q: I understand that is not an opinion that you

Page 37

[1] gave in your report.

[2] A: Yes. I'm clear on that. But inasmuch as it [3] relates to stability of the patient, in my [4] opinion, that would be relevant, so my answer is [5] yes.

[6] Q: Yes, you have an opinion?

[7] A: Yes, that's correct.

[8] Q: What is your opinion?

[9] A: That she was not having an acute MI at that [10] time.

[11] Q: And you will be expressing an opinion as to [12] whether or not a COBRA violation occurred in the [13] care of Thelma Lloyd at St. Luke's Hospital, is [14] that right?

[15] A: Yes, sir.

[16] Q: What does it mean to be board certified in [17] emergency room care?

[18] A: Well, it's board certified in emergency [19] medicine. What that means is that one has taken [20] an examination that has been offered by either [21] the American Board of Emergency Medicine, or the [22] American Board of Osteopathic Emergency [23] Medicine, and passed that examination.

[24] Q: Okay. Doctor, would you agree that a patient [25] who may have two or more conditions responsible

Page 38

[1] for their complaints, and one of those [2] conditions is potentially life threatening and [3] the other is not, can we agree that the accepted [4] standard of medical care is to rule out the most [5] critical condition first?

[6] MR. PARKER: Objection.

[7] MS. VANCE: Object. You are [8] getting back to standards of care. That is [9] not what he is here for.

[10] **MR. PARIS:** It is very important in [11] terms of determining stability of a [12] patient.

[13] **MS. VANCE:** I don't see how, Can [14] you rephrase the question?

[15] **MR. PARIS:** No.

[16] **MS. VANCE:** Questioning him as to [17] what the standard of care calls for, I [18] don't see the relevance of that to the [19] issue of stability under COBRA analysis [20] which is what we're here about and what [21] this witness is here about.

[22] **MR. PARIS:** Bear with me and you'll [23] find out.

[24] **MS. VANCE:** I'd like to hear the [25] question either rephrased or put to him

Page 39

[1] otherwise because I just don't see that [2] there's any relevance at all.

[3] **Q:** When you have a patient with two conditions, [4] Doctor, I'm sorry, a patient who may have two or [5] more conditions responsible for their [6] complaints, one of those conditions is [7] potentially life threatening and the other is [8] not, why is it important to rule out the most [9] lethal condition first?

[10] **MS. VANCE:** Objection.

[11] **MR. PARKER:** Join in the [12] objection.

[13] **Q:** First of all, do you agree with me it is [14] essential to rule out the most lethal condition [15] first?

[16] **MR. PARKER:** Objection.

[17] **MS. VANCE:** Objection.

[18] **MR. GORDON:** I don't have to.

[19] **A:** Actually, I was waiting for — I do not agree [20] with you.

[21] **Q:** Why don't you agree with me?

[22] **A:** Because your question leaves out relative [23] probabilities of the existence of the entities [24] and the judgment.

[25] So it is not the way medicine is practiced,

Page 40

[1] it is not the standard of care to do what you're [2] suggesting and, as a matter of fact, it is [3] inappropriate to do what you're suggesting.

[4] **Q:** It is inappropriate to rule out the most lethal [5] condition first?

[6] **A:** Absolutely, for the reasons I mentioned.

[7] **Q:** And those are again?

[8] **A:** Relative probability of existing condition, not [9] to mention side effects of the procedures one [10] might have to go through to rule out such a [11] condition.

[12] **Q:** As between a heart attack and a hiatal hernia, [13] Doctor, which is the more life threatening?

[14] **MS. VANCE:** Objection insofar as it [15] is not a complete recitation of several of [16] the conditions present in Thelma Lloyd on [17] December 17, 1990.

[18] **MR. PARKER:** It's not only not a [19] complete description of the ultimate [20] diagnosis or rule out diagnoses that were [21] written, it also is incomplete as to the [22] presentation of the patient.

[23] **Q:** You may answer.

[24] **A:** I don't know.

[25] **Q:** You don't know what is the most life

Page 41

[1] threatening, a heart attack or hiatal hernia?

[2] **A:** No. How would anybody know that?

[3] **Q:** I don't know.

[4] Have you treated patients who have died of [5] a hiatal hernia?

[6] **A:** I believe I have but I haven't followed every [7] patient through to their demise. So I don't [8] know.

[9] **Q:** Have you treated patients who died of a heart [10] attack?

[11] **A:** Certainly.

[12] **Q:** Have you treated more patients that die of a [13] heart attack than hiatal hernia?

[14] **A:** I believe so.

[15] **Q:** As between a heart attack and an esophageal [16] reflex, which is the more threatening life [17] threatening condition?

[18] **MS. VANCE:** Same objection.

[19] **MR. PARKER:** Objection.

[20] **A:** In that case, the answer usually would be the [21] heart attack.

[22] **Q:** Why is that?

[23] **A:** A reflex is such a common entity that rarely [24] results in esophageal perforation, which can be [25] life threatening, then I think the general

Page 42

[1] consensus would be that the heart attack has the [2] higher chance of being lethal.

[3] But the way you presented the first case, [4] comparing it to a complete hiatal hernia with [5] the entire contents of the abdomen and the [6] chest, the hiatal hernia is much more life [7] threatening.

[8] So your questions don't take into account [9] the range of disease processes. That is why [10] they are so difficult to answer. They leave out [11] important factors.

[12] **Q:** I didn't insert the facts that you just [13] recited.

[14] **A:** That's right. And that's why I answered the [15] question the way I did, because the potential, [16] the range of

possible answers, range of entities [17] is so wide.

[18] **Q:** Doctor, is a GI series for epigastric discomfort [19] a test that is generally performed on an [20] outpatient basis at this institution?

[21] **A:** I believe it is, yes.

[22] **Q:** Was there anything that you saw in Thelma [23] Lloyd's record which would indicate that her [24] epigastric discomfort was life threatening?

[25] **A:** No.

Page 43

[1] **Q:** Do you agree that Thelma Lloyd presented with [2] significant risk factors for coronary artery [3] disease?

[4] **A:** I have to tell you, I didn't review the case to [5] look at that. So I don't know.

[6] **Q:** Would a patient such as a 63 year old black [7] woman who had been a smoker for many years, who [8] was overweight, had high cholesterol, had a [9] history of hypertension, be one with a [10] significant risk for coronary artery disease?

[11] **A:** Yes.

[12] **Q:** And can we agree that to determine the [13] relationship between chest pain and an acute MI, [14] it's appropriate to do serial EKGs?

[15] **MS. VANCE:** I'm sorry, can you [16] restate it?

[17] **Q:** To determine the relationship between chest pain [18] and acute MI, is it appropriate to do serial [19] EKGs?

[20] **A:** That depends on much more information than [21] you've given me in that question, so I can't [22] answer the question.

[23] **Q:** Can you answer the question whether it is [24] appropriate to run CPKs and isoenzymes?

[25] **A:** No.

Page 44

[1] **Q:** You can't answer the question or it is not [2] appropriate?

[3] **A:** It is just not enough information to be able to [4] answer your question.

[5] **Q:** If you have an index of suspicion of either [6] unstable angina, or myocardial infarction, is it [7] appropriate to do serial EKGs?

[8] **MS. VANCE:** Objection. I think [9] this is getting back into the standard of [10] care.

[11] **MR. PARIS:** It deals with stability [12] and deterioration.

[13] **MS. VANCE:** I don't believe it [14] does, not the path you're taking.

[15] **MR. PARIS:** And it deals with [16] emergency medical conditions and it deals [17] with appropriate screening

processes and [18] tests, it deals with every COBRA issue.

[19] **MS. VANCE:** I disagree.

[20] **MR. PARKER:** I join in the [21] objection.

[22] **MR. PARIS:** That's fine. Certainly [23] you will let the doctor answer the [24] question.

[25] **MS. VANCE:** Sure. Read it back.

Page 45

[2] (Thereupon, the requested portion of [3] the record was read by the Notary.)

[5] **MS. VANCE:** I object insofar as it [6] doesn't accurately reflect the presentation [7] of the patient.

[8] **A:** Can you define index of suspicion a little more [9] for me or not? Are you talking about [10] possibility or probability?

[11] **Q:** Tell me as an emergency room doctor how you [12] would define index of suspicion?

[13] **A:** That is an easier question for me to answer. If [14] I thought that there was a reasonable [15] possibility that the patient's complaint was [16] related to the heart, and I did not think that [17] it was a problem which came from another system [18] such as the gastrointestinal system, and in that [19] same patient the, over the course of treatment I [20] had no other information which changed my [21] thinking, and in that same patient the original [22] electrocardiogram was normal, then I think it [23] would be appropriate to do serial cardiograms.

[24] **Q:** Same response as it relates to serial cardiac [25] isoenzymes?

Page 46

[1] **A:** Right.

[2] **Q:** Why is it necessary for you before doing those [3] tests or ordering those tests, why is it [4] important for you in that setting not to think [5] that complaints would be from GI?

[6] Why wouldn't it be sufficient if you have a [7] reasonable possibility that the patient's [8] complaints is related to the heart solely to run [9] those tests?

[10] **A:** Well, I think I mentioned that over time, a [11] patient — let's describe a patient over time. [12] A patient with an initial chief complaint which [13] makes you think there is a reasonable [14] possibility that it's cardiac comes in and you [15] evaluate that patient and you order an [16] electrocardiogram and you give the patient [17] something to try to relieve their pain.

[18] If you're leaning towards heart problems, [19] you may order nitroglycerin. If you're leaning [20] toward gastrointestinal problems, you may give [21] an antacid preparation and

the cardiogram comes [22] back normal and the pain either doesn't go away [23] with the nitro or does go away with the antacid [24] preparation, and then you get more history which [25] leads you to think more about the stomach.

Page 47

[1] In that particular case you may decide this [2] is gastrointestinal and not rule —

[3] **Q:** How do you rule out acute MI, Doctor?

[4] **A:** If you use, if the word rule out means 100 [5] percent, and the cardiogram is normal, you'd [6] probably have to do either angiography or I [7] guess sensitivity of thallium scanning is [8] better, but the 100 percent rule out would be a [9] biopsy of the heart muscle itself.

[10] **Q:** As a diagnostician, how do you rule out MI?

[11] **MR. PARKER:** I'm real confused [12] whether the scenario you're positing is for [13] the patient's care both in an emergency [14] room setting and on a floor or are we [15] talking hypothetically about an emergency [16] room setting only? Because I'm confused.

[17] **MR. PARIS:** I'm in the emergency [18] room now.

[19] **Q:** How do you rule out acute MI?

[20] **A:** In the emergency department? I don't know.

[21] **Q:** Have you ever?

[22] **A:** I don't think so.

[23] **Q:** What steps do you take to assist the patient's [24] evaluation so that acute MI is ruled out?

[25] **MR. PARKER:** I'm going to object.

Page 48

[1] I think that goes into standard of care and [2] I also think it's presupposing a function [3] on an emergency room that I'm not sure an [4] emergency room has.

[5] **MR. PARIS:** It deals with [6] appropriate medical testing.

[7] **MS. VANCE:** I'm going to object as [8] well.

[9] **MR. PARIS:** It deals with [10] stability. It deals with a determination [11] of an emergency medical condition. These [12] are all COBRA issues, folks.

[13] **MR. PARKER:** That wasn't the basis [14] of my objection. If you listened to my [15] objection, you are presupposing a role for [16] an emergency room that has not been [17] established is the role for the emergency [18] room, that is to completely rule out a [19] patient's medical condition. I think [20] that's a gross —

[21] **MR. PARIS:** I didn't say that [22] though.

[23] **MR. PARKER:** That is what your [24] question presupposes and that is the basis [25] of my objection.

Page 49

[1] **MR. GORDON:** That's good. Can the [2] doctor answer the question?

[3] **MR. PARIS:** Could you read it back?

[5] (Thereupon, the requested portion of [6] the record was read by the Notary.)

[8] **MS. VANCE:** Join in all those [9] objections.

[10] **A:** There are numerous approaches to that. One [11] approach is to seek consultation and have the [12] patient cared for by another physician in an [13] inpatient setting.

[14] Another approach is one in which over a [15] period of time further testing can be done [16] either in an emergency room department that is [17] set up to do that, or in another intermediate [18] care setting which is set up to do that which [19] may be located near the emergency department or [20] on the floor, commonly termed an observation [21] unit.

[22] Other than that, I don't think there's any [23] way to rule out an acute MI. All one can do is [24] make a determination that it is just two [25] unlikely an event that does not warrant further

Page 50

[1] evaluation.

[2] **Q:** Did Dr. Barron entertain a differential [3] diagnosis of myocardial infarction for Thelma [4] Lloyd?

[5] **A:** I don't think I know that answer.

[6] **Q:** Did you read her deposition?

[7] **A:** Yes.

[8] **Q:** Did she, she so testified.

[9] **MS. VANCE:** If you want him to, [10] refer him to a particular page to assist [11] him. He has read it.

[12] **Q:** I want to know whether you have a recollection [13] of that or not.

[14] **A:** I don't have a recollection of her specific [15] words.

[16] **Q:** Did Dr. Barron's differential diagnosis include [17] chest pain cardiac versus GI?

[18] **A:** Yes.

[19] **Q:** Was that appropriate?

[20] **A:** I thought that was appropriate.

[21] **Q:** Did Dr. Barron order CPK and cardiac [22] isoenzymes?

[23] **MS. VANCE:** Objection.

[24] **A:** It is my memory that at some point in the [25] emergency room a CPK was ordered.

Page 51

[1] **Q:** And cardiac isoenzymes?

[2] **A:** I don't know about that.

[3] Q: Did you read her deposition in that regard?

[4] A: I read her deposition.

[5] Q: I want you to assume that Dr. Barron indicated [6] she ordered CPK and cardiac isoenzymes.

[7] Will you assume that to be true?

[8] A: Sure.

[9] MS. VANCE: I will object to the [10] assumption insofar it is not supported by [11] the totality of the facts in this case.

[12] Q: Would the action by Dr. Barron in entertaining [13] the differential diagnosis of chest pain cardiac [14] versus GI, and ordering CPK and cardiac [15] isoenzymes be appropriate in the face of a [16] patient with significant cardiac — risk factors [17] for cardiac, for coronary artery disease and [18] chest pain?

[19] MS. VANCE: Can you reread the [20] question?

[21] MR. PARIS: I'll withdraw that.

[22] Q: Did Dr. Barron appropriately order CPK and [23] cardiac isoenzymes in an effort to rule in or [24] rule out acute MI?

[25] MS. VANCE: I'm just going to

Page 52

[1] object and ask for clarification of the [2] term appropriate. In the context of a [3] COBRA analysis?

[4] Q: Was it appropriate to do that, to determine [5] whether or not an emergency medical condition [6] existed?

[7] A: I guess I don't think that the tests are [8] inappropriate but I wouldn't say they are done [9] to determine if an acute emergency medical [10] condition existed.

[11] Q: Would ordering a CPK and cardiac isoenzymes be [12] an appropriate test to order if you were trying [13] to rule in or rule out an acute MI?

[14] MS. VANCE: Objection to the form [15] of the question.

[16] A: See, the answer is yes, it's okay to order the [17] tests, but the patient has to be evaluated over [18] time. So the test alone is only one small piece [19] of the picture.

[20] Q: There is no question. I just want to know if [21] that is a step in the right direction in ruling [22] in or ruling out an acute MI?

[23] A: We have the same problem with ruling in or [24] ruling out. But ordering the test is a step in [25] the right direction.

Page 53

[1] Q: And it's a seep in the right direction in trying [2] to determine whether or not the patient is [3] having an acute MI?

[4] MR. PARKER: Objection. Asked and [5] answered.

[6] MS. VANCE: Same objection.

[7] MR. PARIS: I didn't get an [8] answer.

[9] Q: Is the answer yes?

[10] A: I said it was an appropriate step to order as [11] long as it is not taken out of context.

[12] MR. PARKER: Four times now.

[13] Q: And can we agree, Doctor, that an acute [14] myocardial infarction is an emergency medical [15] condition?

[16] MS. VANCE: Objection.

[17] A: I think I documented acute myocardial infarction [18] would be considered an acute emergency medical [19] condition.

[20] MR. PARIS: Let's take a short [21] break.

[23] (Thereupon, a recess was had.)

[25] Q: Doctor, what condition or conditions was Thelma

Page 54

[1] Lloyd suffering from that were causing her [2] complaints and symptoms at St. Luke's Hospital [3] on December 17, 1990?

[4] A: You're asking me for my opinion of what was [5] bothering her?

[6] Q: Yes. I want to know in your opinion what [7] conditions or condition she was suffering from [8] that were causing her complaints and symptoms.

[9] MS. VANCE: I object. I think it [10] goes beyond the scope of what this witness' [11] testimony is intended to be about in terms [12] of what COBRA requires.

[13] MR. PARIS: It goes to emergency [14] medical condition, stability.

[15] MR. PARKER: I join in the [16] objection.

[17] Q: Do you know, Doctor?

[18] A: Well, yes, in looking at that, I do have an [19] opinion.

[20] Q: What is your opinion?

[21] A: I think she was suffering from some esophageal [22] reflex.

[23] Q: Anything else?

[24] A: Not on the 17th of — I'm sorry, I forgot the [25] month.

Page 55

[1] MR. GORDON: December.

[2] A: December.

[3] Q: December 17, 1990?

[4] A: Correct.

[5] Q: Do you have an opinion based upon a reasonable [6] degree of medical certainty as to the cause of [7] her elevated CPK?

[8] MS. VANCE: Same objections.

[9] A: I've never looked at that. I don't know.

[10] Q: You are aware that she had an elevated CPK, is [11] that correct?

[12] A: I'm aware that the CPK number from the lab was [13] elevated according to that particular lab, yes.

[14] Q: You are aware that her LDH was elevated?

[15] A: I do. I didn't pay much attention to that. I [16] think that is true, too.

[17] Q: And her AST?

[18] A: Right.

[19] Q: Do you know the significance of those [20] elevations?

[21] A: The CPK is for muscle. The other two are more [22] nonspecific and in general any organ can cause [23] elevation. So I have no opinion about the other [24] elevations.

[25] Q: Do you know the significance of those three

Page 56

[1] values being elevated in the face of a patient [2] having chest pain with significant coronary [3] artery disease risk factors?

[4] A: No, I don't.

[5] Q: Did any of the physicians on the general floor [6] perform one test to rule in or rule out an acute [7] MI of Thelma Lloyd?

[8] MS. VANCE: Objection.

[9] MR. PARKER: Objection.

[10] A: Are you referring to either further enzyme [11] testing or electrocardiographic testing?

[12] Q: Any test that you feel would be appropriate to [13] rule in or rule out an acute MI.

[14] MS. VANCE: Object. Assumes facts [15] not in evidence.

[16] MR. PARKER: Join.

[17] MS. VANCE: It makes assumptions [18] about what should or should not have been [19] appropriately done in that scenario and in [20] that situation.

[21] A: I don't remember any specific tests that related [22] to myocardial infarction.

[23] Q: When she came in — strike that.

[24] Were her vital signs stable on the [25] emergency ambulance run in any of the documents

Page 57

[1] that you have? This should not be a guessing [2] game for you, Doctor.

[3] A: It can't be because I don't guess very well.

[4] MR. GORDON: Here, let me help [5] you.

[6] A: Thank you.

[7] Q: What were her vital signs?

[8] MS. VANCE: In the ambulance?

[9] **A:** Yes. In the ambulance. Cuyahoga County EMS [10] run, I think dated 17 December, she had a blood [11] pressure of 108 systolic over something [12] diastolic. I don't know what.

[13] **Q:** Is that P?

[14] **A:** It means palpable. The pulse was 80 and [15] respirations was 20.

[16] **Q:** Is that normal?

[17] **A:** Cei-tainly can be.

[18] **Q:** What are the normal ranges?

[19] **A:** Well, for — I'm not sure. Normal ranges for [20] what? For patients of this age riding in [21] ambulances or for patients of this age or for [22] all humans?

[23] **Q:** How would you make a determination of whether or [24] not this was a normal vital sign for this [25] person?

Page 58

[1] **A:** By doing a history and physical examination.

[2] **Q:** Based on what you know about this patient, based [3] on the history and the physical exam that was [4] performed by others, do you have an opinion as [5] to whether these vital signs are normal?

[6] **A:** Yes.

[7] **Q:** And what is your opinion?

[8] **A:** That they are totally normal. They're refine.

[9] **Q:** In the emergency room, are you aware of what her [10] vital signs were?

[11] **A:** I have to look that up, too.

[12] **Q:** I think I saw a blood pressure of 130 —

[13] **MR. GORDON:** Page 24, Doctor.

[14] **Q:** 130 over 90? I think that appears there.

[15] **A:** On that page I see 130 over 90.

[16] **Q:** How does that compare to her prior blood [17] pressure?

[18] **A:** Well, a systolic increase of 22 points.

[19] **Q:** What is the significance of that?

[20] **A:** None that I'm aware of.

[21] **Q:** There's no change or divergence there between [22] the two blood pressures?

[23] **A:** Absolutely. There is a 22 point systolic [24] difference.

[25] **Q:** One would not be normal and one would not be

Page 59

[1] abnormal, they are both normal?

[2] **A:** They are both within normal limits.

[3] **Q:** Anybody check her pulse or respiration?

[4] **A:** Well, there's a pulse of 64 written down and a [5] respiration rate of 16.

[6] **Q:** Is that stable?

[7] **A:** Well, it represents a change in pulse of 16 and [8] a change in respiratory rate of four.

[9] **Q:** But is it stable?

[10] **A:** Strict technical definition of instability [11] meaning change over time that one thinks is [12] significant, I think it's stable.

[13] **Q:** Is it normal?

[14] **A:** Yes.

[15] **Q:** So in the ambulance, her vital signs are normal [16] and stable? I don't want to misquote you.

[17] **A:** You would be misquoting me if you said that.

[18] **Q:** In the ambulance, are her vital signs normal?

[19] **A:** Yes.

[20] **Q:** Are they stable?

[21] **A:** I don't know.

[22] **Q:** You don't know because you don't have anything [23] to compare it to?

[24] **A:** Right.

[25] **Q:** In the emergency room, are her vital signs

Page 60

[1] normal?

[2] **A:** Yes.

[3] **Q:** Are they stable?

[4] **A:** Now I'd have to look further to see if they were [5] taken again.

[6] **Q:** In the emergency room, is that what you're [7] saying?

[8] **A:** Right. If you're just looking at that, if you [9] have in the emergency department the information [10] from the ambulance, then you have two sets of [11] vital signs. Normally you do have such a [12] thing.

[13] **Q:** Look at page 10 or 11, Doctor. I don't have [14] anything else in the emergency room.

[15] **A:** There's your page number 30, St. Luke's [16] Hospital.

[17] **Q:** That is the history and physical?

[18] **A:** Yes.

[19] **Q:** That's up on the floor?

[20] **A:** I am just asking you.

[21] **Q:** That's up on the floor. Is there anything else [22] in the emergency room that you were able to spot [23] that would give us an indication of —

[24] **A:** I would like to look at one more sheet of paper [25] and that is the physician's record in the

Page 61

[1] emergency department.

[2] **MR. GORDON:** That's it right [3] there. Page 24.

[4] **A:** All right. Okay. I do not see anything on this [5] particular sheet which

indicates anything [6] further as to blood pressures or pulses.

[7] **Q:** If her blood pressure by, let's say, 1:30 in the [8] morning was 130 over 65, would that indicate [9] that that was normal and stable?

[10] **A:** Right.

[11] **Q:** Can you have an emergency medical condition, [12] Doctor, and still have vital signs that are [13] normal?

[14] **MS. VANCE:** Objection. Again [15] you're using the phrase as it is defined in [16] the COBRA statute?

[17] **Q:** What do you understand emergency medical [18] condition to mean, Doctor?

[19] **MS. VANCE:** It is your question.

[20] **Q:** I would like to know what your understanding is, [21] Doctor.

[22] **A:** You want to know what my definition of an [23] emergency medical condition is? Any condition [24] which prompts a patient to come in to the [25] emergency department to seek advice or care.

Page 62

[1] **Q:** Would that include a condition which if left [2] untreated would in all likelihood lead the [3] patient to some serious health problems?

[4] **MS. VANCE:** Objection.

[5] **MR. PARKER:** I join in the [6] objection.

[7] **MS. VANCE:** If you're quoting the [8] COBRA statute, why don't you do so [9] accurately.

[10] **MR. PARIS:** I am not trying to do [11] so.

[12] **Q:** I would just like to know if that would include [13] that scenario.

[14] **A:** If your scenario was a condition which prompted [15] a patient to come in to the emergency [16] department, yes.

[17] **Q:** Can one under that definition, Doctor, an [18] emergency medical condition, have vital signs [19] that are normal?

[20] **A:** Yes.

[21] **Q:** And —

[22] **A:** Excuse me, I'm sorry, nlay I ask you a question? [23] I'm not sure I understood your question. Under [24] what condition? Under my definition?

[25] **Q:** Did you accept my addition to your definition?

Page 63

[1] **A:** I had a very broad definition and you had one [2] that was a subset of that. I said if your [3] subset is what prompted the patient to come in, [4] yes, it was.

[5] **Q:** We are agreed on the definition then as between [6] ourselves for the purposes of this next [7] question.

[8] **MS. VANCE:** Your subset?
[9] **MR. PARIS:** Yes. Which includes my
[10] subset.
[11] **Q:** Are we on the same wavelength?
[12] **A:** I don't think so. Anything that
prompts the [13] patient to come into the
emergency room, gunshot [14] wound to
the head or hangnail, it is an [15] emer-
gency medical condition to them. That
is my [16] definition.
[17] **Q:** Can one have vital signs that are
normal and [18] still have an emergency
medical condition?
[19] **A:** Based on my definition, absolute-
ly.
[20] **Q:** Can one have vital signs that are
stable and [21] still have an emergency
medical condition?
[22] **A:** Yes.
[23] **Q:** Doctor, how do you come by
your knowledge of the [24] COBRA law?
[25] **A:** Well, I suppose the term osmosis
might be a good

Page 64

[1] one. It is just a matter of having the [2]
responsibility for being director of a [3]
department and having read the litera-
ture which [4] has come out with analysis
of such law by [5] various organizations
to which I belong.
[6] **Q:** Well, are you the house officer in
charge of [7] this hospital's compliance
with COBRA?
[8] **A:** Well, I'm not a house officer.
[9] **Q:** I'm sorry. Are you the person at
this [10] institution who's in charge to
insure that this [11] institution complies
with the COBRA laws?
[12] **A:** I would say no.
[13] **Q:** Who is at this institution, if
anyone?
[14] **A:** I would guess, and I'm not sure,
but I would [15] guess the institutional
responsibility would [16] probably lie
with the hospital's counsel.
[17] **Q:** And do I understand that the pub-
lications that [18] you have read that have
provided you with this [19] knowledge of
COBRA includes the news letter that [20]
we're going to mark as an exhibit and
the [21] undisclosed publication by Attor-
ney Krugh?
[22] **A:** Correct, plus other materials and
discussions.
[23] **Q:** Have you attended any seminars?
[24] **A:** I have never attended a seminar
that related [25] specifically to COBRA.

Page 65

[1] **Q:** Would you tell ~~us~~ what are the
purposes of [2] COBRA?
[3] **A:** I think that you would have to ask
Congress. I [4] don't know but the
original idea was a [5] Congressional
reaction to a perception that [6] patients

were being inappropriately transferred
[7] but it was referred to as dumping,
quote, [8] unquote, and Congress formu-
lated what they [9] thought was a law
that tried to prevent this.

[10] **Q:** Would you agree that one of the
purposes of [11] COBRA is to insure that
poor patients without [12] private doc-
tors or without insurance or without [13]
wealth get the same standard of medical
care as [14] patients with insurance or
with wealth or with [15] private doctors?

[16] **MS. VANCE:** Objection. I think you
[17] are confusing standard of care.

[18] **MR. PARIS:** No, I'm not.

[19] **Q:** Can you answer the question,
Doctor?

[20] **MR. PARKER:** I join in the [21] objec-
tion.

[22] **A:** I don't think I could tell you what
was in [23] Congress' mind when they
formulated this thing.

[24] **Q:** Would you agree that COBRA re-
quires hospitals to [25] conduct an ap-
propriate screening test to

Page 66

[1] determine if the patient has an emer-
gency [2] medical condition?

[3] **MS. VANCE:** Objection. You are [4]
misstating the statute.

[5] **Q:** Would you agree with that?

[6] **A:** I don't think that's what it says.
That is my [7] memory.

[8] **Q:** What is your understanding —

[9] **MS. VANCE:** Well, he's not —

[10] **Q:** — of what that requirement is?

[11] **MS. VANCE:** I don't think it is [12]
incumbent on him to quote the statute.
If [13] you have it before you, you can just
[14] rephrase your question.

[15] **Q:** Doctor, tell me the elements
which constitute [16] COBRA violations
based on your understanding of [17] the
law.

[18] **A:** Based on my understanding, a
failure to perform, [19] to evaluate a
patient and to dismiss that [20] patient
without such evaluation would be a [21]
violation.

[22] A transfer of a patient from one [23]
institution to another without a screen-
ing [24] evaluation would be a violation.

[25] A transfer of an unstable patient in

Page 67

[1] certain circumstances could be a
violation, if [2] that transfer were done, at
least originally the [3] intent was for
financial reasons, and I believe [4]
another element was that the patient
had to [5] suffer some harm in that trans-
fer.

[6] **Q:** Any other components relevant to
COBRA that [7] you're familiar with?

[8] **A:** Well, the answer to that is yes but
it's like [9] asking me is there anything
I'm familiar with in [10] a text book. I
can't recite them. I'd have to [11] study it.

[12] **Q:** Can we agree that COBRA re-
quires that all tests, [13] evaluations and
transfers of a patient be [14] conducted
on the basis of needs of the patient, [15]
and not on whether the patient is a
private [16] paying patient or a poor Wel-
fare recipient?

[17] **MS. VANCE:** Objection.

[18] **Q:** Can we agree on that?

[19] **A:** I think in general we could agree
but I'm not [20] sure, you use the word
all tests, and every time [21] the word all
is thrown in, I will withdraw and [22] say
I'm not sure about all.

[23] **Q:** Same question, I'll rephrase the
question.

[24] Would you agree that COBRA re-
quires that [25] tests, evaluations and
transfers of a patient be

Page 68

[1] conducted on the basis of the needs
of the [2] patient?

[3] **A:** The medical needs of the patient.

[4] **Q:** Yes, the medical needs.

[5] **A:** Yes.

[6] **Q:** And not on whether the patient is
a private [7] paying patient as opposed to
a poor Welfare [8] recipient, would you
agree with that?

[9] **MS. VANCE:** Objection to the form
[10] of the question.

[11] **A:** I'm not sure I can agree with that
because I [12] think they don't even have
to be Welfare [13] recipients. I think they
could just be people [14] without any
money.

[15] So I don't think — as I remember, it
[16] didn't single out alleged mistreat-
ment of [17] Welfare people.

[18] **Q:** So people without funds, is that
right?

[19] **A:** Yes.

[20] **Q:** People without private doctors?

[21] **A:** There could be people, yes.

[22] **Q:** Are you familiar with the penalty
set forth in [23] COBRA?

[24] **A:** I don't remember the number but
I think there's [25] some per incident
which is moderately

Page 69

[1] substantial. I don't know whether it is
\$10,000 [2] or \$50,000.

[3] **Q:** Are you familiar with any penalties
that is [4] associated with the hospital's
receipt of or [5] participation in
Medicare or Medicaid programs?

[6] **A:** Yes.

[7] **Q:** What are the penalties?

[8] **A:** Well, if I think if an appeal is lost, it is [9] possible for a hospital to lose its affiliation [10] with Medicare. I don't remember Medicaid. But [11] I'm sure Medicare.

[12] **Q:** Doctor, would an acute myocardial infarction in [13] a patient constitute a medical condition which [14] could be reasonably expected to result in [15] placing that patient's health in jeopardy if [16] left untreated?

[17] **MS. VANCE:** Objection. Either [18] restate the question or read it.

[20] (Thereupon, the requested portion of [21] the record was read by the Notary.)

[23] **MS. VANCE:** Object to the form of [24] the question, particularly in light of [25] COBRA, insofar as it is taking into

Page 70

[1] consideration diagnoses that was not then [2] known or appreciated.

[3] **MR. PARKER:** I object as well.

[4] **A:** I need to answer your question in this [5] particular way. You said if left untreated, and [6] I believe that patient's health would be in [7] jeopardy if complications of an acute myocardial [8] infarction were left untreated.

[9] Leaving the MI itself untreated may not [10] necessarily change anything in terms of the [11] patient's health or future health.

[12] **Q:** Well, are some of the dangers in leaving an [13] acute myocardial infarction untreated, at least [14] which are foreseeable, are those that relate to [15] extension of the MI?

[16] **MS. VANCE:** Objection.

[17] **A:** The answer to that is yes.

[18] **Q:** And reinfarction?

[19] **A:** I don't know the answer to that. I don't know [20] whether reinfarction —

[21] **Q:** But certainly extension, is that right?

[22] **A:** Extension is one of the complications.

[23] **Q:** It is more likely than not, is it, Doctor, that [24] an untreated acute MI will — strike that.

[25] Are patients who have an acute MI more

Page 71

[1] likely to have a better prognosis with admission [2] to a coronary care unit and access to the full [3] range of cardiac care than with being sent home [4] to exert themselves and receiving no treatment [5] at all?

[6] **MS. VANCE:** Objection.

[7] **MR. PARKER:** Objection.

[8] **MS. VANCE:** Incomplete statement of [9] the facts. Doesn't accurately reflect the [10] presentation of the patient.

[11] **Q:** As a general proposition, Doctor, would you [12] agree with me?

[13] **MS. VANCE:** Objection.

[14] **A:** Yes.

[15] **Q:** Does this institution have any regulations [16] related to CQBRA?

[17] **A:** I believe we have, we have some. They're [18] probably, for our purposes in the emergency [19] department, related to a checklist for [20] transfers.

[21] **Q:** Including discharge, is that right?

[22] **A:** No.

[23] **Q:** Do you consider a transfer discharge?

[24] **A:** Not that I know of.

[25] **Q:** Do you consider a discharge a transfer?

Page 72

[1] **A:** No.

[2] **Q:** Is it your understanding that — strike that.

[3] Did Thelma Lloyd have any clinical evidence [4] of an acute MI on December 17, 1990?

[5] **A:** Not any more so than clinical evidence of other [6] disease processes.

[7] **Q:** GI disease processes?

[8] **A:** Correct.

[9] **Q:** It was both equally the same?

[10] **A:** I'm not sure what that question means.

[11] **Q:** You said not any more so than other disease [12] processes being GI, I suppose?

[13] **A:** My answer is that her symptomatology could have [14] been caused by GI but probably more so than [15] myocardial infarction, if you add in some of the [16] other findings over time.

[17] **Q:** By how much percentage wise?

[18] **A:** I can't give it a percent.

[19] **Q:** One percent cardiac, 99 percent GI?

[20] **MS. VANCE:** Objection.

[21] **MR. PARKER:** Objection.

[22] **MS. VANCE:** He said he can't do [23] that.

[24] **A:** I don't know.

[25] **Q:** You can't give us any measurement, any

Page 73

III quantitative measurement at all?

[2] **A:** No.

[3] **Q:** Based on her clinical presentation?

[4] **A:** More likely than not.

[5] **Q:** It could be 51 percent GI, 49 cardiac?

[6] **MS. VANCE:** Objection.

[7] **A:** More likely.

[8] **MR. PARKER:** Objection.

[9] **MS. VANCE:** He stated he cannot do [10] that.

[11] **Q:** It is your understanding more likely than not in [12] the medical/legal context is anything over 50 [13] percent?

[14] **A:** I think that is the system you people use.

[15] **Q:** And what is the basis of your opinion that [16] Thelma Lloyd did not have an acute MI or was not [17] suffering the effects of an acute MI when she [18] presented at St. Luke's on December 17, 1990?

[19] **A:** There are several things. She did not have a [20] tachycardia. She did not have any arrhythmia [21] that was significant. She may have had an extra [22] breath. I don't know the answer to that.

[23] No significant arrhythmia. She had pain [24] that was alleviated by antacids. She had no [25] response to nitroglycerin.

Page 74

[1] **MR. GORDON:** Did you say no [2] response?

[3] **A:** No significant pain relief from nitroglycerin, [4] and if one reviews — well, I don't remember, I [5] was going to say if one reviews the autopsy, but [6] I guess I don't remember what the autopsy [7] shows. So give me a moment to take a look at [8] that.

[9] Oh, yes. Autopsy revealed extensive recent [10] myocardial infarction 24 to 48 hours old.

[11] **Q:** Absent the autopsy, Doctor, and on a clinical [12] basis, have we discussed all of your opinions [13] why you don't believe she had an MI while, she [14] was suffering from an acute MI while at St. [15] Luke's Hospital?

[16] **A:** All I can think of right now.

[17] **Q:** Do you know why, Doctor, her chest —

[18] **A:** Excuse me. You asked the question so I thought [19] of something else.

[20] **Q:** Okay.

[21] **A:** As I remember, although I didn't see the x-rays, [22] I told you I didn't, that the x-rays were [23] consistent with esophageal reflex.

[24] **Q:** The GI series?

[25] **A:** The GI series, correct. That is the other

Page 75

III thing. I'm sorry to interrupt.

[2] **Q:** That is why you feel she did not have an acute [3] MI?

[4] **A:** That whole list of reasons.

[5] **Q:** Doctor, what was the reason that her chest pain [6] returned after she was given antacids?

[7] **A:** I don't think we know the answer. I don't know [8] the answer to that.

[9] **Q:** Do you know what her response was on the second [10] time she was given sublingual nitro?

[11] **A:** No, I don't.

[12] **Q:** Would it affect your opinion if she received [13] questionable relief?

[14] **MS. VANCE:** You're referring to St. Luke's or now you're referring to [16] Metropolitan?

[17] **MR. PARIS:** St. Luke's. I'm on [18] December 17, 1990.

[19] **A:** No, it would not.

[20] **Q:** Would it affect your opinion if her chest pain [21] came back after she was given antacids?

[22] **A:** No.

[23] **Q:** And I take it her elevated LDH, CPK and AST have [24] no significance to you in your opinion that she [25] was not suffering an acute MI, is that right?

Page 76

[1] **A:** That is correct.

[2] **Q:** Doctor, is it a violation of COBRA to elect not [3] to review a patient's laboratory studies because [4] she is a staff patient as distinguished from a [5] private patient?

[6] **MS. VANCE:** Objection. It [7] misstates the fact. It misstates Dr. Bass' [8] testimony. It is not what the record in [9] this case would present.

[10] **Q:** Assume that could be true.

[11] **A:** If I assume that, I do not feel that is a [12] violation of COBRA.

[13] **Q:** Do you consider that to be providing a separate [14] system of medical care based upon the [15] classification of a patient?

[16] **MS. VANCE:** Objection, all the [17] reasons previously stated. It is an [18] incomplete recitation of facts relating to [19] this case.

[20] **A:** I'm not sure what you mean. Could you ask the [21] question differently?

[22] **Q:** All right. Does a COBRA violation encompass [23] providing a separate diagnostic and treatment [24] approach to a patient based upon their [25] designation as a staff patient versus their

Page 77

[1] designation as a private patient?

[2] **MS. VANCE:** Objection. [3] Incomplete. It is not what the record [4] shows.

[5] **A:** I don't know how to answer the question.

[6] **Q:** Why?

[7] **A:** Well, because I'm not sure what you mean by [8] separate diagnostic and treatment approach. If [9] you are saying that patients who are insured can [10]

receive some life saving diagnosis or therapy, [11] and patients who are not insured will have that [12] deliberately withheld, then I would say that [13] probably would be a violation.

[14] If you're saying that private patients [15] aren't treated for by private physicians and staff [16] patients are treated by a team, then I don't [17] think that is any violation or anything to do [18] with COBRA.

[19] **Q:** Do you have a designation in this hospital [20] between staff patients and private patients?

[21] **A:** Yes.

[22] **Q:** And who, how are they categorized? Who [23] comprises the staff patients in this hospital?

[24] **A:** Staff patients are patients that do not have a [25] relationship with a private physician that is on

Page 78

[1] the staff of this hospital.

[2] **Q:** Anybody else or is that it?

[3] **A:** This is the end of the definition.

[4] **Q:** Private patients are who?

[5] **A:** Patients who have a relationship with a private [6] physician that is on the staff of this hospital.

[7] **Q:** A physician at this hospital, I want you to [8] assume that a physician at this hospital is [9] assigned a staff patient, and because the person [10] is a staff patient, this attending physician [11] consciously elects not to review the patient's [12] laboratory studies, whereas he would review the [13] patient's laboratory studies if it was his own [14] private patient, and the staff patient was then [15] discharged without having the laboratory studies [16] looked at by the attending physician.

[17] Does that conduct constitute a COBRA [18] violation in your opinion?

[19] **MS. VANCE:** Objection.

[20] **A:** No.

[21] **Q:** What does that constitute, if not a COBRA [22] violation?

[23] **A:** It is a system of health care in which an [24] attending physician has a relationship with [25] physicians who are in training in that

Page 79

[1] particular specialty and it's a different [2] communication methodology. It is nothing more.

[3] **Q:** Do you work with residents and interns in the [4] emergency room?

[5] **A:** Every day.

[6] **Q:** Do you review their work?

[7] **A:** It depends on your definition of review their [8] work.

[9] **Q:** Well, I mean do you allow them to make life and [10] death decisions without any input?

[11] **A:** I don't think they make, I don't think they make [12] any decisions without any input. But whether [13] the input is general or specific to that [14] particular patient, it varies with each patient.

[15] **Q:** Well, if one of the interns or residents that [16] you're working with orders a test, do you review [17] it with them?

[18] **A:** Not necessarily.

[19] **Q:** Under what circumstances would you not review a [20] test that was ordered by an intern, resident on [21] a patient of yours?

[22] **A:** If their laboratory values, they just ask them [23] if they're normal or not.

[24] **Q:** Why? Why would you rely on them?

[25] **A:** Because it's a very reasonable thing to do, to

Page 80

[1] rely on another physician to communicate to you [2] written information.

[3] **Q:** How do you teach them if they're right or wrong?

[4] **A:** In terms of being able to read? That part is [5] taken care of in the high schools and in [6] colleges and in medical school in terms of [7] looking at tests and interpreting results. That [8] is not part of the educational process in the [9] medical post-graduate system anywhere in the [10] country.

[11] **Q:** You don't consider correlating laboratory [12] studies with a clinical presentation important [13] to the teaching process?

[14] **MS. VANCE:** Objection.

[15] **MR. PARKER:** That is different from [16] your previous question.

[17] **A:** My answer is yes, sure, I do.

[18] **Q:** And is it important to review those laboratory [19] data with your students to correlate the [20] clinical complaints with the laboratory data?

[21] **A:** It is not necessarily important that I myself [22] look at a piece of paper and see, for instance, [23] that a white count is 10,800 if they told me [24] that the white count is 10,800.

[25] **MR. PARIS:** Let's take a break.

Page 81

[2] (Thereupon, a recess was had.)

[4] **Q:** Doctor, what was causing Thelma Lloyd — was her [5] EKG normal or abnormal? Did you read the [6] tracing?

[7] **A:** Oh, I did. I have to kind of glance at that [8] again. I have to find it real quick. As I [9] remember, it was slightly

abnormal. It had one [10] premature ventricular contraction.

[11] Q: What was causing that?

[12] A: I don't think anybody knows why patients have [13] premature ventricular contractions in an [14] individual patient but they're very common and [15] one is not considered to be of significance.

[16] So you have no, it doesn't mean anything [17] one way or the other. There was no acute [18] changes on the electrocardiogram.

[19] There was one millimeter of ST segment [20] depression, and one millimeter is not considered [21] significant.

[22] Q: Do you know what was causing that?

[23] A: It is a normal variant but a lot of ST segment [24] depression is abnormal.

[25] Q: But this was not an abnormal ST?

Page 82

[1] A: This was not an abnormal ST segment depression, [2] so I would say the same thing. There are some [3] abnormalities or variance but no acute changes.

[4] Q: Are you familiar with the term non-Q wave [5] infarction?

[6] A: Yes, I have heard that before.

[7] Q: Is this EKG compatible with a non-Q wave [8] infarction?

[9] MS. VANCE: Objection.

[10] A: My answer is all EKGs without Q waves are [11] compatible with non-Q wave infarction.

[12] Q: Is this an abnormal EKG?

[13] A: Yes. I think I said that.

[14] Q: I understand, Doctor, forgive me if I'm being [15] redundant, does an esophageal reflex cause [16] elevated CPKs?

[17] A: You did not ask that I remember and I don't [18] know.

[19] Q: Have you ever given testimony regarding COBRA [20] violations prior to today?

[21] A: I have not.

[22] Q: This is the first time you've been asked?

[23] A: Yes, sir.

[24] Q: Turning to your report, top of the second page, [25] and before I specifically get to that question,

Page 83

[1] why is it, Doctor, that you feel qualified to [2] speak to the COBRA issues in this case?

[3] A: Well, because I've been practicing emergency [4] medicine as an emergency medicine departmental [5] director for 18 years, and because I have served [6] as president of the American College of [7] Emergency Physicians and presi-

dent of the Ohio [8] Chapter of Emergency Physicians and during that [9] course of time have had enumerable meetings with [10] both the Government Affairs Committee of our [11] college and our chairman of the college and am a [12] regular recipient of materials that are [13] published by the Government Affairs section of [14] the American College of Emergency Physicians, [15] many of which reference changes in law of all [16] kinds that relate to emergency medicine, and [17] many of those relate to COBRA and its [18] implication for the practice of emergency [19] medicine.

[20] Q: And can you tell me why in your opinion there [21] was no COBRA violation in this case?

[22] A: Yes, my opinion is that Congress, when they [23] wrote the law, were non-specific and left the law [24] open to interpretation, because they just had no [25] concept of what they were trying to do.

Page 84

[1] That is my opinion. And that's one of the [2] reasons we're sitting here today.

[3] However, what the main issue that I felt [4] that Congress wanted to deal with was [5] Representative Stark's concern about, A, getting [6] re-elected; and B, stopping patient dumping.

[7] The way he wanted to stop patient dumping, [8] especially for people who were uninsured or [9] underinsured, was to mandate that patients have [10] an evaluation.

[11] I do not believe it was the intent of [12] Congress now or then to mandate that all [13] possible disease processes be totally and [14] completely evaluated prior to the patient being [15] cared for in another setting.

[16] Q: And why is it specifically that you feel that [17] there was no COBRA violations involving Thelma [18] Lloyd?

[19] A: Very specifically because not only did she have [20] a physical exam in the emergency department, but [21] she was kept in the hospital for an evaluation [22] over several more hours, it was approximately [23] 24, and at that time had no significant change [24] in her vital signs, did not go into shock, did [25] not develop arrhythmia which produced cyanosis.

Page 85

[1] did not develop any significant issues like [2] nausea, vomiting, diarrhea, intractable pain, [3] none of those things happened. She pretty much [4] remained the same. She was stable and they [5] proved it in spades.

[6] Q: Who proves it?

[7] A: The interns and the doctors that were taking [8] care of her.

[9] Q: How did they prove it?

[10] A: My answer to that would be would be read back, [11] just print it again.

[12] Q: All right. Fine. So then do I understand, [13] Doctor, it is your opinion that St. Luke's [14] Hospital conducted an appropriate medical [15] screening test for Thelma Lloyd?

[16] A: Absolutely.

[17] Q: And it was reasonable?

[18] A: Absolutely.

[19] Q: Do I understand it is also your opinion that St. [20] Luke's Hospital — strike that — that a [21] determination was not made that she was [22] suffering from an acute MI on 12/17/90?

[23] A: The decision to focus in on gastrointestinal [24] disease as part of her problem was made after [25] the evaluation based on the physician's judgment

Page 86

[1] and some tests that they had done. That to me [2] has nothing to do with COBRA.

[3] Q: I take it it is your opinion she was not [4] suffering from an emergency medical condition [5] while she was at St. Luke's Hospital?

[6] A: Correct.

[7] Q: And that there was no need for St. Luke's [8] Hospital to do anything to stabilize her [9] condition before discharging her at 3:00 or 4:00 [10] on the 17th?

[11] A: Her condition was already stable so there was [12] nothing more to stabilize.

[13] Q: Do you know what the cause of her myocardial [14] infarction was subsequent to her discharge at [15] St. Luke's Hospital?

[16] A: I think it was atherosclerotic heart disease.

[17] Q: Was there any connection in your opinion, based [18] upon reasonable medical certainty, between any [19] conditions that she presented with at St. Luke's [20] Hospital, and her infarction after discharge?

[21] MS. VANCE: Objection.

[22] A: Well, I think I answered that by saying I [23] thought it was more likely than not that the [24] reflex was the cause of her pain on the 17th.

[25] So I guess the obverse of that is saying

Page 87

[1] that the myocardial infarction that she [2] suffered a couple of days later was a [3] coincidental event.

[4] Q: With regard to the second page of your report, [5] Doctor, what do you mean by the first [6] sentence?

[7] **A:** The sentence that begins with the words "with [8] regards to"?

[9] **Q:** Yes. What do you mean by "un-recognized CPK dash [10] MP enzyme result"?

[11] **A:** I think what I meant, I remember something in [12] the deposition about the emergency physician not [13] being aware that that result was back. That is [14] what I was referring to.

[15] **Q:** So what you're referring to is Dr. Barron did [16] not recognize that CPK result?

[17] **A:** Correct.

[18] **MR. PARKER:** I'll object.

[19] **A:** That is what I was referring to.

[20] **Q:** What do you mean, was it your understanding Dr. [21] Bass was aware of that CPK enzyme result?

[22] **A:** I don't remember that. I'd have to look that [23] back up.

[24] **Q:** What do you mean by the next sentence, "the [25] absolute value of that number does not effect

Page 88

[1] the fact that the patient was stable and quite [2] comfortable while an in-patient"?

[3] **A:** If the CPK were a billion or zero, that doesn't [4] detract from the fact of stability and that's [5] what we're talking about when we're talking [6] about COBRA.

[7] **Q:** So that I understand that if her CPK was, as you [8] said, a billion, that would not render her, in [9] your opinion, unstable?

[10] **A:** That's right, exactly right.

[11] **Q:** Given this presentation of the patient?

[12] **A:** That's right.

[13] **Q:** And that is because she appeared to be quite [14] comfortable while an inpatient, is that [15] right?

[16] **A:** Yes. Right. All of the things I said [17] before.

[18] **Q:** You indicate that you have a feeling that had [19] additional CPKs been done later, the results [20] would have been normal or more towards normal, [21] is that right?

[22] **A:** Correct.

[23] **Q:** What do you mean by feeling?

[24] **A:** Well, because I felt that the patient, the [25] patient's problem was probably from esophageal

Page 89

[1] reflex and because as I indicated to you [2] earlier, I didn't know whether that would [3] produce this mild elevation in the CPK, and [4] because it is possible to have CPK elevations [5] from minor events like a venipuncture, if you [6] injure some muscle, that repeating

the test [7] would have in retrospect, in my opinion, [8] probably not been more elevated.

[9] **Q:** So when you say feeling, you're talking about a [10] reasonable degree of medical certainty, an [11] opinion?

[12] **A:** Right.

[13] **Q:** Is it your opinion, Doctor, that Thelma Lloyd's [14] elevated CPK was from some injury to the muscle [15] when she got the venipuncture?

[16] **A:** No. I think I said I didn't know what her CPK [17] reading was.

[18] **Q:** Have you ever gone to law school, Doctor?

[19] **A:** No.

[20] **Q:** Have you ever taken any law courses?

[21] **A:** No. Would you like to know if I want ever to do [22] that? I can answer you that.

[23] **MR. GORDON:** Yes, I would like to [24] know that.

[25] **A:** No.

Page 90

[1] **MR. PARIS:** I don't have any [2] further questions.

[3] **MR. PARKER:** I have very few [4] questions, just to make sure.

[6] CROSS-EXAMINATION OF BRUCE JANIAC, M.D.

[7] **BY MR. PARKER:**

[8] **Q:** Did I understand laboratory tests for LDH is [9] nonspecific for cardiac conditions?

[10] **A:** That's what I'm telling you. That is my [11] understanding of it, too. I don't use it and my [12] colleagues don't use it. Our cardiologists [13] don't come down and order it.

[14] So if all that's true, then I don't think [15] we'd be using it for myocardial infarctions.

[16] **Q:** Is the same thing true for AST, it is [17] nonspecific?

[18] **A:** That's right.

[19] **Q:** When the materials you reviewed, do you know if [20] the CPK results were available to Dr. Barron, [21] the ER physician, while Thelma Lloyd was still [22] in the emergency room?

[23] **A:** I don't know. I'm not sure what you mean by [24] available. I don't think she had them as near [25] is my memory.

Page 91

[1] **Q:** Do you know, do you even know if the CPK test [2] had been run?

[3] **A:** I do not know that.

[4] **Q:** I believe I heard you indicate that in your [5] opinion there was nothing in Thelma Lloyd's [6] emergency room records to suggest that her [7] gastric condition was life threatening?

[8] **A:** Correct.

[9] **Q:** Was there anything in Thelma Lloyd's emergency [10] room records to indicate she was suffering a [11] cardiac condition which appeared life [12] threatening at that time?

[13] **A:** There was not.

[14] **MR. PARKER:** That's all I have.

[16] CROSS-EXAMINATION OF BRUCE JANIAC, M.D.

[17] **BY MR. PARIS:**

[18] **Q:** Do you know why the physicians, pathologists and [19] laboratory people at St. Luke's Hospital utilize [20] AST, LDH?

[21] **A:** I have no idea.

[22] **Q:** When you're ordering a CPK and cardiac [23] isoenzymes from the emergency room, is it your [24] custom and habit to order them, I think you told [25] me it is your custom and habit to order them

Page 92

[1] stat, is that right?

[2] **A:** The way I characterized that any test that we [3] order in our department is automatically stat. [4] So we don't write the word stat or say the word [5] stat.

[6] **Q:** How do you facilitate getting that requisition [7] over to the lab?

[8] **A:** It's done by computer.

[9] **Q:** You order it via the computer?

[10] **A:** Well, I tell, I write it down on the emergency [11] record or check a box, depending on which [12] desk it is, and a clerk types it into a [13] computer.

[14] **Q:** And it automatically goes to the lab?

[15] **A:** To the lab, right.

[16] **Q:** Do you customarily document your ordering of the [17] CPK, I'm sorry, cardiac isoenzymes?

[18] **MR. PARKER:** I object.

[19] **A:** Yes, I do.

[20] **Q:** Why?

[21] **A:** If I don't write it down on the chart, nobody [22] would know that I wanted it. So there's not [23] much choice.

[24] To be fair, it's possible for me to [25] verbally do that, but it is just my habit to

Page 93

[1] write it down on the chart in the rare instances [2] when I do verbally do that.

[3] **Q:** What is the importance of having anybody else [4] know if you ordered it?

[5] **MR. PARKER:** Objection.

[6] **A:** I think the same, the same answer would be true [7] generically for documentation. It is always [8] better to document what you've done and what [9] you're thinking than it is not to document it, [10] and I recognize we all would

do better with [11] documentation, that is true for all emergency [12] records, but in general you document all you can [13] document.

[14] Q: How does that come into play in the transfer of [15] the care of the patient from the emergency room [16] to the floor?

[17] A: It is another interesting question because, you [18] know, I'd like to tell you how critically [19] important it is, but I can't do that because of [20] the logistics of the way the pieces of paper go [21] up to the floor.

[22] Many times the emergency room record, which [23] is not yet dictated, doesn't arrive for 14 [24] hours. So much of this is done verbally and then [25] all of this material arrives.

Page 94

[1] So ideally I think it ought to be more [2] important than it is. Practically speaking, [3] there is a delay in our hospital. I can't speak [4] for every other hospital.

[5] Q: As a practical matter, when there is a delay in [6] this hospital of getting the written, your [7] written notes up to the floor for the continuity [8] of care of a patient, how do you impart that [9] information to the subsequent care giver? [10] Verbally?

[11] A: Yes, over the telephone, or in person, depending [12] on where the person is.

[13] Q: Why is that important to do?

[14] MR. PARKER: Objection.

[15] A: Well, I don't know that I can answer that. [16] That's so obvious.

[17] Q: Help me.

[18] A: Okay.

[19] MR. PARKER: Objection. I think [20] this is beyond COBRA.

[21] A: A physician who is accepting the care of a [22] patient from another physician usually will [23] benefit from some knowledge about the initial [24] thought process and diagnostic and treatment [25] activities that the original first physician had

Page 95

[1] undertaken.

[2] In some instances it's helpful. I [3] would guess in the majority of instances [4] it is helpful. It is sometimes when it [5] isn't.

[6] Q: Is that your custom and practice at this [7] hospital?

[8] A: Yes, it is.

[9] Q: Do you know whether or not an elevated CPK is [10] consistent or compatible with an acute MI?

[11] MS. VANCE: Objection.

[12] A: An elevated CPK, plain CPK — well, actually [13] the answer to your

question is yes, elevated [14] CPK, regardless of whether it is isoenzyme, [15] would be compatible with acute myocardial [16] infarction.

[17] Q: Would an elevated LDH be compatible with an [18] acute MI, if you know?

[19] A: I just don't remember.

[20] Q: If you remember, would an elevated AST be [21] compatible or consistent with an acute MI?

[22] A: I don't know.

[23] MR. PARIS: Okay. I think that's [24] it. Thank you very much, Doctor.

[25] THE WITNESS: You're welcome.

Page 96

[1] MS. VANCE: We'll not waive [2] signature.

BRUCE JANIAK, M.D.

[3] (Thereupon, Plaintiffs' Exhibit 1, [9] an article, was marked for purposes of [10] identification.)

Page 97

CERTIFICATE
The State of Ohio,) SS:
County of Cuyahoga.)
I, Linda A. Astuto, a Notary Public within
and for the State of Ohio, authorized to
administer oaths and to take and certify
depositions, do hereby certify that the
above-named BRUCE JANIAK, M.D., was by me,
before the giving of his deposition, first duly
sworn to testify the truth, the whole truth, and
nothing but the truth; that the deposition as
above-set forth was reduced to writing by me by
means of stenotypy, and was later transcribed
into typewriting under my direction; that this
is a true record of the testimony given by the
witness, and was subscribed by said witness in
my presence; that said deposition was taken at
the aforementioned time, date and place,
pursuant to notice or stipulations of counsel;
that I am not a relative or employee or attorney
of any of the parties, or a relative or employee
of such attorney or financially interested in
this action.
IN WITNESS WHEREOF, I have hereunto set my
hand and seal of office, at Cleveland, Ohio,
this ____ day of _____, A.D. 19 ____.
Linda A. Astuto, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires October 24, 1977

Page 98

WITNESS INDEX	
	PAGE
CROSS-EXAMINATION	3
BRUCE JANIAK, M D	
BY MR PARIS	
CROSS-EXAMINATION	90
BRUCE JANIAK, M D	
BY MR PARKER	
CROSS-EXAMINATION	91
BRUCE JANIAK, M D	
BY MR PARIS	
EXHIBIT INDEX	
EXHIBIT	MARKED
Plaintiffs Exhibit 1,	96
an article	

\$	6	56(2); 69; 70(4); 72; 73(2); 74; 75(2); 81; 82; 85; 95(4)	approaches 25; 49	based 23; 36; 55; 58(2); 63; 66(2); 73; 76(2); 85; 86	cardiograms 45
\$10,00069	60 7	add 72	appropriate 43(3); 44(3); 45; 48; 50(2); 51; 52(3); 53; 56; 65; 85	basis 7; 32; 42; 48(2); 67; 68; 73; 74	cardiologist 5
\$50,00069	63 43	addition 62	appropriately 51; 56	Bass 5; 14; 23; 76; 8'	cardiologists 90
1	64 59	additional 19; 88	approximately 8; 9; 84	Bear 38	cardiology 24
1 96	65 61	additions 4	area 9	begin 7	cardiovascular 17; 27
10 6; 60	6:00 32(2)	addressed 19	Arrangements 12(2)	beginning 13	care 3; 4; 10; 16; 33(2); 34(3); 35; 36; 37(2); 38(3); 40; 44; 47; 48; 49; 61; 65(2); 71(2); 76; 78; 80; 85; 93; 94(3)
10,800 80(2)	7	admission 14; 16; 17; 71	arrive 93	believes 87	cared 49; 77; 84
100 47(2)	75 7(2)	admitted 17	arrives 93	believe 9; 29; 30; 31; 32(2); 41(2); 42; 44; 67; 70; 71; 74; 84; 91	case 7; 8; 10(2); 11(3); 13(3); 19(4); 24; 25(2); 32(2); 33(4); 35(2); 41; 42; 43; 47; 51; 76(2); 83(2)
108 57	76 7	adopt 17	arrythmia 73(2); 84	belong 64	cases 7(5); 8(5); 9; 10(4); 11(3)
11 60	77 7	advice 61	Arter 8	benefit 94	categorized 77
12 29	7:00 32	Affairs 83(2)	artery 43(2); 51; 56	better 47; 71; 93(2)	causation 36
12/17/90 14; 85	8	affect 75(2)	article 6(2); 20(3); 21; 22(3); 23; 96	beyond 54; 94	cause 35; 55(2); 82; 86(2)
130 58(3); 61	80 7; 57	affiliation 69	ASAP 31(2)	biopsy 47	caused 72
14 93	9	afternoon 32	aspect 33	bit 4(2)	causing 54(2); 81(3)
15 7; 8	90 58(2)	afterwards 24	assigned 78	black 43	Center 14(2)
16 59(2)	91 4	again 15; 19; 40; 60; 61; 81; 85	assist 47; 50	blood 28(2); 29; 30; 57; 58(3); 61(2)	certain 30; 67
17 14(2); 36; 40; 54; 55; 57; 72; 73; 75	99 72	age 3; 57(2)	associated 30; 69	Board 4; 6; 23; 37(4)	Certainly 11; 41; 44; 57; 70
17th 54; 86(2)	9:00 28	ago 4; 6(2)	assume 51(2); 76(2); 78	Boden 5	certainty 29(2); 36; 55; 86; 89
18 83	9:15 28	agree 12; 13; 37; 38; 39(3); 43(2); 53; 65(2); 66; 67(4); 68(2); 71	Assumes 56	Both 18; 47; 59(2); 72; 83	certified 3; 37(2)
1978 14	A	agreed 63	assumption 21; 51	bothering 54	chairman 83
1989 4	a.m. 32	Ahmet 15	assumptions 56	box 92	chance 42
1990 4; 14(4); 36; 40; 54; 55; 72; 73; 75	Aarons 7; 14	alleged 33; 35(2); 68	AST 25; 55; 75; 90; 91; 95	break 53; 80	change 30; 58; 59(3); 70; 84
1991 22	abdomen 42	alleviated 73	attack 11; 40; 41(5); 42	breath 73	changed 32; 45
1992 13; 18	ability 11; 12	allow 79	attended 64(2)	Brenda 15	changes 81; 82; 83
1:00 32	able 13; 44; 60; 80	along 20	attending 78(3)	broad 63	Chapter 6; 83
1:30 61	abnormal 27; 28(2); 59; 81(4); 82(2)	although 29; 74	attention 11; 32; 55	brought 35	characterized 92
2	abnormalities 82	always 93	attic 11(2)	BRUCE 3(2); 90; 91; 96	charge 64(2)
20 57	abnormality 28(3)	ambulance 56; 57(2); 59(2); 60	attorney 20(3); 21; 23; 64	C	chart 29; 92; 93
21st 14	Absent 74	ambulances 57	August 13(3); 18	C 26	check 59; 92
22 58(2)	absolute 87	amounts 28	automatically 92(2)	Cabinet 11	checklist 71
22nd 14	Absolutely 40; 58; 53; 85(2)	amylase 30(2)	automobile 8; 11	call 26	CHEM 29
23 15; 18	accept 62	analysis 38; 52; 64	Autopsy 14(2); 15; 17; 74(4)	called 3; 16	chemical 30(2)
24 58; 61; 74; 84	accepted 34(2); 38	and/or 10	available 90(2)	calling 16	chest 14; 42; 43(2); 50; 51(2); 56; 74; 75(2)
25 12	accepting 94	angina 44	average 7(2)	calls 38	chief 46
27 22	access 71	angiography 47	aware 55(3); 58(2); 37(2)	came 19; 45; 56; 75	children 4
28 18	accident 8; 11	amounts 28	away 46(2)	can 8; 21; 25(2); 27; 32; 34(2); 38(2); 41; 43(3); 45; 49(3); 51; 53; 55; 57; 61; 62; 63(2); 65; 66; 67(2); 68; 74; 77; 83; 89; 93; 94	choice 92
3	according 55	antacid 46(2)	B	cardiac 17; 27(2); 28; 30; 31(2); 32; 36; 45; 46; 50(2); 51(7); 52; 71; 72; 73; 90; 91(2); 92	cholesterol 43
30 60	account 42	antacids 73; 75(2)	3 84	cardiogram 46; 47	circle 24
3:00 86	accurate 8; 17(2)	anybody 41; 59; 78; 31; 93	lack 19; 20; 21; 28; 38; 44(2); 46; 49; 75; 35; 87(2)		circled 24
4	accurately 11; 45; 52; 71	anyone 24; 64	background 3		circulating 20
4 16	action 51	anywhere 22; 80	ballparking 7		circumstances 30; 47; 79
48 74	activities 94	appeal 69	Barron 5; 14; 34; 50(2); 51(3); 87; 90		Civil 3; 8
49 73	actual 14(2); 17; 23	appeared 8; 91	Barron's 23; 50		clarification 52
4:00 86	Actually 4; 13; 25; 39; 95	appears 58			classification 76
5	acute 4(2); 10; 27; 56; 37; 43(2); 47(3); 49; 51; 52(3); 53(4);	approach 49(2); 76; 77			
50 7; 29; 73		approached 19(2)			
51 73					

Shirley Bolden vs. St. Lukes Hospital
Court of Common Pleas, Cuyahoga County, Ohio

clear - existence **Min-U-Script®** Mehler & Hagestrom (216) 621-4984

existing 40 expectancy 36 expected 33; 69 experience 23 expert 7; 8; 14; 18; 19; 29; 33 explain 25 express 33(2); 34(2); 35 expressed 32(2) expressing 33;35; 36(2); 37 extension 70(3) extensive 74 extent 34 extra 73	footnotes 22 foreseeable 70 forgive 82 forgot 54 form 10; 22; 52; 68; 69 formulated 65(2) forth 33; 34; 68 found 20; 30 four 7; 12; 32; 53; 59 Frank 6(4); 18 frequent 10 frequently 23 full 71 function 48 funds 68 further 12(2); 49(2); 56; 60; 61; 90 future 70	H	I	intended 21; 54 intent 67; 84 interested 16 interesting 93 intermediate 49 intern 79 interns 79(2); 85 interpretation 83 interpretations 23 interpreting 80 interrupt 75 into 36; 42; 44; 48; 63; 69; 84; 92; 93 intractable 85 involved 10(2) involving 9; 84 isoenzyme 95 Isoenzymes 26; 27(4); 28; 30; 31(2); 32; 43; 45; 50; 51(4); 52; 91; 92 issue 11; 38; 44; 84 issues 10(2); 11; 23; 25; 48; 83; 85 itself 47; 70	L	
F		habit 27; 91(2); 92 Hadden 8 hand 3 handy 15; 20 Hang 4 hangnail 63 happen 23 happened 85 happening 27 happy 12 harm 67 haven't 14; 24; 36; 41 head 63 health 62; 69; 70(3); 78 hear 19; 38 heard 5; 82; 91 heart 11; 27(2); 40; 41(5); 42; 45; 46(2); 47; 86 help 57; 94 helpful 95(2) hereinafter 3 hernia 40; 41(3); 42(2) hesitant 20 hiatal 40; 41(3); 42(2) high 43; 80 higher 42 history 43; 46; 58(2); 60 Hoke 5; 15 hold 33 holiday 12 home 11; 71 honest 25 honestly 16 hope 3 hopefully 25 Hospital 3; 5; 9; 14; 15; 16(2); 17; 25; 36(2); 37; 54; 60; 69; 74; 77(2); 78(4); 84; 85(2); 86(4); 91; 94(3); 95 hospital's 64(2); 69 hospitals 65 hour 15; 18 hours 74; 84; 93 house 64(2) humans 57 humorous 29 hypertension 43 hypotheically 47	idea 65; 91 ideally 94 identification 96 imagine 10 impart 94 implication 83 importance 93 important 38; 39; 42; 46; 80(3); 93; 94(2) inability 30 inappropriate 40(2); 52 inappropriately 65 inartfully 3 inasmuch 37 incident 68 incidents 23 include 50; 62(2) includes 63; 64 Including 71 incomplete 40; 71; 76; 77 increase 58 incumbent 66 independent 24; 25 index 44; 45(2) indicate 30; 42; 61; 88; 91(2) indicated 31; 51; 89 indicates 61 indication 60 individual 35; 81 infarction 10(3); 11; 44; 50; 53(2); 56; 69; 70(2); 72; 74; 82(3); 86(2); 87; 95 infarctions 4(2); 90 information 43; 44; 45; 60; 80; 94 initial 46; 94 injure 89 injuries 11 injury 8; 27; 89 inpatient 49; 88(2) input 21; 23; 79(3) insert 42 insofar 33; 40; 45; 51; 69 instability 27; 28; 59 instance 28; 80 instances 93; 95(2) institution 27; 31; 32; 42; 64(3); 66; 71 institution's 25 institutional 64 insurance 65(2) nsure 64; 65 nsured 77(2)			lab 18; 25; 26; 30; 32; 55(2); 92(3) laboratory 16(2); 76; 78(3); 79; 80(3); 90; 91 labs 26 Lach 6 later 87; 88 law 8; 9(2); 10(2); 11; 21(2); 22; 63; 64; 65; 66; 83(3); 89(2) lawful 3 laws 64 lawyers 3; 10 layman 3 LDH 26; 55; 75; 90; 91; 95 lead 62 leads 46 leaning 46(2) least 67; 70 leave 42 leaves 39 Leaving 70(2) left 62; 69; 70(2); 83 legal 25 less 10 lethal 39(2); 40; 42 letter 18; 19; 21; 22(2); 25; 64 letters 23 level 27 liberty 22(2) lie 64 life 6; 36; 38; 39; 40(2); 41(2); 42(2); 77; 79; 91(2) light 69 likelihood 62 likely 70; 71; 73(3); 86
face 51; 56 facilitate 92 fact 4; 16; 40; 76; 88(2) factors 42; 43; 51; 56 facts 42; 51; 56; 71; 76 factual 34 failure 66 fair 92 fall 25 familiar 6; 18; 21; 32; 67(2); 68; 69; 82 familiarity 8; 19 fashion 25 feel 22; 56; 75; 76; 83; 84 feeling 88(2); 89 felt 23; 84; 88 few 90 file 11; 13(3); 15; 21(2) filed 11 files 10; 11 filing 11 films 14; 17 financial 67 find 19; 21; 22; 38; 81 findings 72 fine 44; 58; 85 firm 8; 9(2); 11(2); 22 firms 9; 10(2) first 3; 7(2); 13; 24; 38; 39(3); 40; 42; 82; 87; 94 five 7; 8(2); 12 floor 47; 49; 56; 60(2); 93(2); 94 focus 13; 32; 85 folder 11 folks 48 followed 41 follows 3	G	game 57 gastric 91 gastrointestinal 45; 46; 47; 85 gave 7; 37 general 41; 55; 56; 67; 71; 79; 93 generally 34; 42 generic 26 generically 93 gentleman 6 Geoffrey 6 GI 14; 42; 46; 50; 51; 72(4); 73; 74(2) given 3; 12(2); 16; 23; 43; 75(3); 82; 88 giver 94 giving 7 glance 81 glutamic 25 goes 26; 48; 54(2); 92 Goldblatt 5(2) Goldblatts 6 good 9; 49; 63 GORDON 22; 39; 49; 55; 57; 58; 61; 74; 89 Government 83(2) greater 29 Greenblatt 5 gross 48 ground 8 guess 5; 13; 26; 29; 30; 31; 47; 52; 57; 54(2); 74; 86; 95 guessing 57 guideline 16 guidelines 16 gunshot 63		J	Jacobson 9 JANIAC 3(2); 22(2); 90; 91; 96 jeopardy 69; 70 Jerome 7 Jinks 11 Join 39; 44; 49; 54; 56; 62; 65 journal 21; 22 journals 21 judgment 28; 29(2); 39; 85 July 13(3)	
				K	limits 33; 59 list 75 listened 48 literature 64 litigation 3; 10 little 4(2); 23; 45 Lloyd 3; 34; 36; 37; 40; 43; 50; 54; 56; 72; 73; 81; 84; 85; 90 Lloyd's 14; 15; 35; 36; 42; 89; 91(2) located 49 logistics 93 ong 4; 22; 53 ook 14; 15(2); 20; 22; 25; 43; 58; 60(3); 74; 80; 87 ooked 19(2); 22; 25; 33; 36(2); 55; 78	
				K-r-u-g-h 20 keep 3; 11(2) Kenneth 6; 14 Kentucky 9 kept 8; 84 kind 25; 81 tinds 26; 83 tnowing 16 knowledge 19; 21(3); 23(2); 63; 64; 34 known 70 knows 81 Krugh 20(2); 23; 64 Krugh's 20; 22(2)		

looking 16;22; 23; 35(3); 54; 60; 80 looks 4 lose 69 lost 69 lot 5; 81 Luke's 3; 5; 9; 14(2); 15; 16(2); 36(2); 37; 54; 60; 73; 74; 75(2); 85(2); 86(4); 91 lump 17		53(2); 56; 69; 70(2); 72; 74; 86; 87; 90; 95 myself 80	obtain 18 obtained 21 obtaining 26 obverse 86 obvious 94 obviously 5; 17; 33; 34 occasions 10; 12(2) occurred 8, 19; 37 occurring 29 offered 37 officer 64(2) Ohio 5; 6(2); 9(2); 10; 83 old 4(2); 25(2); 43, 74 Once 11 one 3; 4(3); 6(2); 8(2); 9(2); 10(2); 17(2); 24; 25; 26; 27; 28; 29; 31; 34; 37; 38; 39; 40; 43; 49(3); 52; 56; 58(2); 59; 60; 62; 63(3); 64; 65; 66; 70; 72; 74(2); 79; 81(5); 84 ones 8; 32 only 13; 18; 23(2); 24; 33; 40; 47; 52; 84 open 83 opinion 13; 34; 36(3); 37(4); 54(4); 55(2); 58(2); 73; 75(3); 78; 83(2); 84; 85(2); 86(2); 88; 89(3); 91 opinions 3; 24; 32(2); 33(4); 34; 35(3); 36; 74 opposed 68 order 26(4); 27(4); 28; 31(2); 46(2); 50; 51; 52(2); 53; 90; 91(2); 92(2) ordered 26; 30(4); 31(2); 50; 51; 79; 93 ordering 27(3); 31; 46; 51; 52(2); 91; 92 orders 79 organ 55 organization 4 organizations 64 orientation 34 original 45; 65; 94 originally 67 osmosis 63 Osteopathic 37 others 58 otherwise 39 ought 30; 94 ourselves 25; 63 out 22; 23; 38(2); 39(3); 40(3); 42; 47(6); 48; 49; 51;	52(3); 53; 56(2); 64; 68 outpatient 42 over 7; 8; 9; 11; 16; 45; 46(2); 49; 52; 57; 58(2); 59; 61; 72; 73; 84; 92; 94 overlays 34 overweight 43 own 78 oxaloacetic 25	67(6); 68(4); 69; 71; 76(5); 77; 78(4); 79(3); 81; 84(3); 88(3); 93; 94(2) patient's 45; 46; 47(2); 48; 69; 70(2); 76; 78(2); 88 patients 4; 41(3); 57(2); 65(3); 70; 77(9); 78(2); 81; 84 pattern 27 pay 55 paying 67; 68 penalties 69(2) penalty 68 pending 10 people 68(5); 73; 84; 91 per 68 percent 29; 47(2); 72(3); 73(2) percentage 8; 72 perception 65 perforation 41 perform 56; 66 performed 42; 58 perhaps 7 period 49 periodically 17 permission 20 person 57; 64; 78; 94(2) personal 33 personally 5 Peter 5 phosphokinase 26 phrase 61 phrased 3 physical 58(2); 60; 84 physician 3; 6; 31; 49; 77; 78(6); 80; 87; 90; 94(3) physician's 60; 85 Physicians 6; 11; 19; 21; 33; 35(3); 56; 77; 78; 83(3); 91 picking 13 picture 52 piece 52; 80 pieces 93 PK 26 placing 69 plain 95 Plaintiff 3; 8 plaintiff's 10; 1; 16; 19 slaintiffs 8; 96 play 93 olus 19; 64 soint 6; 22; 50; 58 oints 58	
M		N		P		
M.D 3(2); 90; 91; 96 main 84 majority 95 makes 46; 56 making 21 malpractice 7; 8; 35 mandate 84(2) manually 11 manuals 17 manuscript 20 many 7; 9; 10; 12(3); 43; 83(2); 93 March 22 mark 24; 64 mark'd 96 marked 16 markings 23(3) material 18; 93 materials 11; 17(2); 18; 19(3); 36; 64; 83; 90 Matousek 18 matter 34; 40; 64; 94 may 5; 11; 16(2); 21; 30; 33; 37; 39; 40; 46(2); 47; 49; 62; 70; 73 Maynard 9 McCarty 6; 14 mean 11; 28(2); 29; 30; 37; 61; 76; 77; 79; 81; 87(4); 88; 90 meaning 59 means 24; 28; 29(2); 37; 47; 57; 72 meant 87 measured 29 measurement 72; 73 measurements 28(3) Medicaid 69(2) medical 7; 8; 14(3); 29(2); 34; 35; 36; 38; 44; 48(3); 52(2); 53(2); 54; 55; 61(3); 62; 63(3); 65; 66; 68(2); 69; 76; 80(2); 85; 86(2); 89 medical/legal 8; 73	morning 3; 32; 61 most 38; 39(2); 40(2)	name 3; 5(2); 9; 10; 11; 18 names 5 narrative 23 nausea 85 near 15; 49; 90 necessarily 7; 24; 70; 79; 80 necessary 46 need 70; 86 needs 67; 68(3) negative 30 new 25(2) news 23; 64 newspaper 22 next 63; 87 nitro 46; 75 nitroglycerin 46; 73; 74 nobody 92 non-Q 82(3) None 24; 30; 58; 85 nonspecific 26; 55; 83; 90(2) nor 17; 35 normal 27; 45; 46; 47; 57(4); 58(3); 59(5); 60; 61(2); 62; 63; 79; 81(2); 88(2) Normally 60 Notary 28; 45; 49; 69 notes 23(2); 94 nothing 11; 18; 19; 79; 86(2); 91 number 55; 60; 68; 87 numbers 23 numerous 49		P 57 p.m 32(2) package 17; 19 packages 17; 18 page 16; 23; 24; 50; 58(2); 60(2); 61; 82; 87 paging 22 paid 11 pain 43(2); 46(2); 50; 51(2); 56; 73; 74; 75(2); 85; 86 palpable 57 pancreatitis 30 paper 60; 80; 93 papers 4(2); 13 paragraph 24 PARIS 3(2); 14; 19; 22(3); 27; 34; 35(4); 36; 38(3); 44(3); 47; 48(3); 49; 51; 53(2); 54; 62; 63; 65; 75; 80; 90; 91; 95 PARKER 9; 34; 38; 39(2); 40; 41; 44; 47(2); 48(2); 53(2); 54; 56(2); 62; 65; 70; 71; 72; 73; 80; 87; 90(2); 91; 92; 93; 94(2) Parotitis 30 part 13; 15; 26; 80(2); 85 participation 69 particular 47; 50; 55; 61; 70; 79(2) particularly 69 particulars 10 passed 37 past 7; 8 path 44 pathologists 91 pathology 5; 15; 16 patient 11; 13; 14; 17; 27(3); 28; 29; 30; 34; 36; 37(2); 38; 39(2); 40; 41; 43; 45(3); 46(5); 49; 51; 52; 53; 56; 58; 61; 62(2); 63(2); 66(5);		
		O				
		subject 34; 38; 45; 47; \$8; 51; 52; 54; 56; 69; 70; 87; 92 sbjection 9; 38; 39(4); 40; 41(2); 44(2); 48(3); 50; 52; 53(3); 54; 56(2); 61; 52(2); 65(2); 66; 67; 58; 69; 70; 71(3); 72(2); 73(2); 76(2); 77; 78; 80; 82; 86; 93; 94(2); 95 objections 49; 55 observation 14; 15(2); 17(2); 18(3); 25(3); 49				

policies 15	process 20; 30(2); 80(2); 94	reaction 65	relationship 43(2); 77; 78(2)	return 11	secretary 21(2)
policy 17(2)	processes 30; 42; 44; 72(3); 84	read 6; 19; 21; 24; 27; 28; 44; 45; 49(2); 50(2); 51(2); 64(2); 69(2); 80; 81; 85	relative 16; 39; 40	returned 75	section 83
poor 65; 67; 68	produce 89	reading 89	release 22(2)	revealed 74	seeing 16(2); 17
portion 28; 45; 49; 69	produced 84	real 47; 81	relevance 38; 39	review 10; 13; 14(2); 15(2); 16; 18(2); 33; 43; 76; 78(2); 79(4); 80	seek 49; 61
positing 47	profess 23	really 24; 31; 36	relevant 17; 37; 67	reviewed 6; 15; 19(4); 33; 35; 36; 90	seems 5; 25
possibility 11; 45(2); 46(2)	prognosis 71	reason 29; 31; 75	relief 74; 75	reviewing 7	segment 81(2); 82
possible 32; 42; 69; 84; 89; 92	programs 69	reasonable 29(2); 36; 45; 46(2); 55; 79; 85; 86; 89	rely 79; 80	reviews 74(2)	seminar 64
possibly 24	promise 3	reasonably 69	remained 85	riding 57	seminars 64
post-graduate 80	prompted 62; 63	reasons 40; 67; 75; 76; 84	remember 5; 9; 15; 16(2); 17; 56; 68(2); 69; 74(3); 81; 82; 87(2); 95(2)	right 7; 11; 13(2); 14; 16; 21; 23; 28; 29; 36; 37; 42; 46; 52(2); 53; 55; 59; 60; 61(3); 68; 70; 71; 74; 75; 76; 80; 85; 88(6); 89; 90; 92(2)	sensitivity 47
potential 10; 42	prompts 61; 63	recall 9(2); 10(3)	Reminger 9(2)	risk 43(2); 51; 56	sent 17(3); 20(3); 21; 71
potentially 38; 39	proposition 71	receipt 69	render 32; 88	role 48(2)	sentence 87(3)
practical 94	protocol 15(2); 16(2)	receive 17(2); 77	rendered 33	room 6; 15; 26; 32; 33; 34; 37; 45; 47(3); 48(4); 49; 50; 58; 59; 60(3); 63; 79; 90; 91(3); 93(2)	separate 76(2); 77
Practically 94	protocols 15	received 3; 17; 18(2); 75	repeated 28	routine 31(3)	serial 43(2); 44; 45(2)
practice 83; 95	prove 85	receiving 18; 71	repeatedly 29	rule 38; 39(2); 40(3); 47(6); 48; 49; 51(2); 52(2); 56(4)	series 42; 74(2)
practiced 39	proved 85	recent 74	repeating 89	Rules 3; 33	serious 62
practicing 83	proves 85	recently 32	rephrase 38; 66; 67	ruling 52(4)	serum 25(2)
premature 81(2)	provided 3; 14; 17; 36; 64	recess 21; 53; 81	rephrased 38	run 32(2); 43; 46; 56; 57; 91	served 83
preparation 46(2)	providing 76(2)	recipient 67; 68; 83	report 13; 14(2); 18(6); 19; 23; 29; 32(2); 33(2); 34; 37; 82; 87	running 26	serving 23
prepare 23	proximate 35; 36	recipients 68	reported 17	runs 32	set 17; 33; 34; 49(2); 68
prepared 8; 18(2)	publication 19; 20(2); 21; 64	recitation 40; 76	reports 7; 8; 14; 17; 24; 33		sets 60
preparing 7; 18	publications 4(2); 64	recite 67	represent 3		setting 12; 13; 25(2); 26; 46; 47(2); 49(2); 84
prepublication 20	publish 20; 21	recited 42	representation 13		seventies 7
present 40; 76	published 20; 22; 83	recognize 87; 93	Representative 84		several 4; 6; 22; 26; 31; 40; 73; 84
presentation 40; 45; 71; 73; 80; 88	pulse 28(2); 29; 57; 59(3)	recollection 18; 50(2)	represents 3; 59		SGOT 25; 26
presented 4(2); 10; 42; 43; 73; 86	pulses 61	record 17; 28; 42; 45; 49; 60; 69; 76; 77; 92; 93	reputation 5		sheet 16; 17; 60; 61
president 4(2); 23(2); 83(2)	purpose 3; 25; 26; 27; 31	records 14(5); 36; 91(2); 93	request 12; 31		Shirley 15
pressure 28(2); 29; 57; 58(2); 61	purposes 63; 65(2); 71; 96	redundant 82	requested 13(2); 28; 45; 49; 69		shock 84
pressures 58; 61	pursue 12; 34(2)	refer 50	requests 11		short 53
presupposes 48	put 17; 38	reference 83	requirement 66		show 16
presupposing 48(2)		referenced 24	requires 54; 65; 67(2)		shows 74; 77
pretty 8; 85	Q	referred 18; 65		S	side 40
prevent 65	qualified 83	referring 28; 56; 75(2); 87(3)		same 6(2); 25; 27; 28; 29; 35(2); 41; 45(3); 52; 53; 55; 63; 65; 67; 72; 82; 85; 90; 93(2)	sign 57
previous 80	quantitative 73	reflect 45; 71		sat 6	signature 96
previously 16; 76	questionable 75	reflection 12		saving 77	significance 55(2); 58; 75; 81
Primarily 27; 30	Questioning 38	reflex 41(2); 54; 74; 32; 86; 89		saw 16; 42; 58	significant 4; 24(3); 43(2); 51; 56; 59; 73(2); 74; 81; 84; 85
print 85	quick 81	regard 3; 13; 16; 32; 51; 87		saying 32; 60; 77(2); 86(2)	signs 28(2); 56; 57; 58(2); 59(3); 60; 61; 62; 63(2); 84
prior 8; 10; 12; 13; 18; 58; 82; 84	quite 88(2)	regarding 82		scanning 47	Silberman 14
private 65(2); 67; 68(2); 76; 77(5); 78(3)	Quotations 24	regardless 29; 95		scenario 47; 56; 62(2)	similar 5
privileges 5	quote 65; 66	regards 32; 87		scheduled 32	single 68
probabilities 39	quoting 62	regular 83		school 8; 80; 89	sitting 29; 84
probability 29; 40; 45		regulations 19(3); 20; 71		schoools 80	situation 56
probably 6(3); 7(2); 8; 10; 11; 12; 31; 47; 64; 71; 72; 77; 88; 89	R	reinfection 70(2)		scope 54	skip 16
problem 45; 52; 85; 88	Ralph 6	relate 70; 83(2)		screening 44; 65; 66; 85	slides 15; 17
problems 10; 46(2); 62	range 7(2); 32; 42(3); 71	related 27; 45; 46; 56; 64; 71(2)		season 12	slightly 81
Procedure 3; 17	ranges 57(2)	relates 25; 26; 34; 35; 37; 45		Seballas 5; 15	small 52
urocedures 40	rare 93	relating 76		second 4; 16; 75; 82; 87	Smith 15
	rarely 41				smoker 43
	rate 59(2)				solely 46
	ratio 8				something 3; 9; 12; 15; 16; 19; 20; 24(3); 46; 57; 74; 87
	re-elected 84				

