

| Page 1 | | Page 3 | |
|--------|--|--------|---|
| 1 | State of Ohio, } | 1 | OBJECTIONS CONT'D |
| 2 | County of Stark. } SS: | 2 | ATTORNEY PAGE-LINE |
| 3 | --- | 3 | BY MR. STRONG 64- 2 |
| 4 | IN THE COURT OF COMMON PLEAS | 4 | BY MR. STRONG 68- 14 |
| 5 | --- | 5 | BY MR. HOWES 71- 5 |
| 6 | Stephan Germanoff, } | 6 | BY MR. KREMER 71- 6 |
| 7 | administrator of the Estate of }) | 7 | BY MR. KREMER 78-18 |
| 8 | Connie Sue Germanoff, } | 8 | BY MR. KREMER 79-22 |
| 9 | Plaintiff, } | 9 | BY MS. PETRELLO 86-22 |
| 10 | vs. } | 10 | BY MR. KAMPINSKI 93-11 |
| 11 | Aultman Hospital, et al., } | 11 | BY MS. PETRELLO 99- 9 |
| 12 | Defendants.) | 12 | BY MS. PETRELLO 99-18 |
| 13 | --- | 13 | BY MS. PETRELLO 99-24 |
| 14 | Deposition of Alan Kamen, M.D., a witness | 14 | BY MR. STRONG 100-24 |
| 15 | herein, called by the plaintiff for cross-examination, | 15 | BY MS. PETRELLO 101-19 |
| 16 | pursuant to the Ohio Rules of Civil Procedure, taken | 16 | --- |
| 17 | before Constance Versagi, Court Reporter and Notary Public | 17 | |
| 18 | in and for the State of Ohio, taken at Aultman Hospital, | 18 | |
| 19 | 2600 Sixth Street SW, Canton, Ohio, | 19 | |
| 20 | on Thursday, May 17, 2001, commencing at 4:00 p.m | 20 | |
| 21 | --- | 21 | |
| 22 | | 22 | |
| 23 | | 23 | |
| 24 | | 24 | |
| 25 | | 25 | |
| Page 2 | | Page 4 | |
| 1 | INDEX | 1 | APPEARANCES: |
| 2 | WITNESS: CROSS | 2 | On behalf of the Plaintiff: |
| 3 | Alan Kamen, M.D. | 3 | Charles Kampinski, Esq. |
| 4 | by Mr. Kampinski 98, | 4 | Christopher M. Mellino, Esq. |
| 5 | by Mr. Switzer 90 | 5 | Laurel Matthews, M.D., Esq. |
| 6 | by Ms. Petrello 94 | 6 | Kampinski & Mellino |
| 7 | by Mr. Kremer 97 | 7 | 1530 Standard Building |
| 8 | --- | 8 | Cleveland, Ohio 44113 |
| 9 | EXHIBITS | 9 | On behalf of the Defendant Canton Aultman |
| 10 | Plaintiff's: Marked | 10 | Emergency Physicians, G. Hamrick, M.D.: (Via telephone) |
| 11 | 1 89 | 11 | Donald Switzer, Esq. |
| 12 | --- | 12 | Bonezzi, Switzer Murphy & Polito |
| 13 | OBJECTIONS | 13 | 526 Superior Avenue - #1400 |
| 14 | ATTORNEY PAGE-LINE | 14 | Cleveland, Ohio 44114 |
| 15 | BY MR. STRONG 29- 16 | 15 | On behalf of the Defendant Aultman Hospital: |
| 16 | BY MR. STRONG 42- 7 | 16 | Hubert A. Howes, Esq. |
| 17 | BY MR. STRONG 43- 11 | 17 | Howes, Daane, Milligan, Kyhos & Erwin |
| 18 | BY MR. STRONG 48- 3 | 18 | 400 Tuscarawas Street West |
| 19 | BY MR. STRONG 48-21 | 19 | Canton, Ohio 44701 |
| 20 | BY MR. STRONG 50-23 | 20 | On behalf of the Defendants Stacey Hollaway, M.D. |
| 21 | BY MR. STRONG 56-23 | 21 | and Commonwealth Comprehensive Care: |
| 22 | BY MR. KREMER 57- 9 | 22 | Stephan C. Kremer, Esq. |
| 23 | BY MR. SWITZER 57-10 | 23 | Reminger & Reminger |
| 24 | BY MS. PETRELLO 57-16 | 24 | 80 South Summit Street |
| 25 | BY MR. HOWES 61- 6 | 25 | Akron, Ohio 44308 |
| | | 26 | On behalf of the Defendants Cardiology Associates |
| | | 27 | of Canton and Peter Y. Lee, M.D.: |
| | | 28 | Richard Strong, Esq. |
| | | 29 | Roetzel & Andress |
| | | 30 | 222 South Main Street |
| | | 31 | Akron, Ohio 44308 |
| | | 32 | On behalf of the Defendant Mark W. Hatcher, M.D.: |
| | | 33 | Colleen Petrello, Esq. |
| | | 34 | Mazanec, Raskin & Ryder |
| | | 35 | 34305 Solon Road |
| | | 36 | Solon, Ohio 44139 |
| | | 37 | Also Present: |
| | | 38 | Mark N. Rose, M.D., Esq |
| | | 39 | Jennifer Curati |

Page 5

1 ALAN KAMEN, M.D.
2 of lawful age, being first duly sworn, as hereinafter
3 certified, was examined and testified as follows:
4 CROSS-EXAMINATION
5 By Mr. Kampinski:
6 Q State your full name, please.
7 A Alan Ralph Kamen.
8 Q Tell me the first name?
9 A Alan, A-L-A-N, Ralph Kamen, K-A-M-E-N.
10 MR. KAMPINSKI: Let the record show all
11 counsel representing the various parties are
12 present. Mr. Switzer is attending by phone,
13 correct, Mr. Switzer?
14 MR. SWITZER: Yes, I am.
15 Q Dr. Kamen, I am going to ask you some questions
16 this afternoon. If you don't understand any of
17 them tell me, okay, I'll be happy to rephrase any
18 question you don't understand.
19 When you do respond to my questions, please
20 do so verbally. She is taking down everything that
21 is said, she can't take down a nod of the head.
22 A Okay.
23 Q Have you been deposed before, sir?
24 A Yes, several times.
25 Q Under what circumstances?

Page 6

1 A I guess malpractice cases.
2 Q Where you've been a defendant?
3 A Yes.
4 Q Tell me the name of the case?
5 A I can't tell you, I don't remember.
6 Q How many were there?
7 A In my 33 years, two.
8 Q You don't remember them?
9 A I don't remember the names if that is what you
0 mean, I have no remembrance.
1 Q Where were the lawsuits, here?
2 A In Stark County.
3 Q Were you named individually or was your group
4 named, or both?
5 A My understanding is both were. I'm not sure I
6 understand the legalities of it.
7 Q Who represented you in those cases?
8 A Mr. Treadon in one. The other was -- the other one
9 was dropped. Mr. Treadon and there was one about
0 10 years ago, who represented me, I don't
1 remember. I'm sorry, I don't remember. Then there
2 was one that was dropped that was about 25,
3 30 years ago.
4 Q What were the results of the three cases? You said
5 the one 25 years ago was dropped?

Page 7

1 A Right.
2 Q What about the one who you can't remember the
3 attorney's name?
4 A For the defendant.
5 Q Was it a trial?
6 A Yes.
7 Q Defense verdict?
8 A Yes. That means my insurance company didn't pay
9 any money, if that is what that means.
10 Q What about the third one?
11 A Third one is the insurance company paid money.
12 Q What were the nature of the claims in each case?
13 A One patient died with a heart cath.
14 Q Which case?
15 A The one between your number one and three.
16 Q The defense verdict?
17 A Yes, the defense verdict.
18 MR. HOWES: That one I represented
19 you.
20 THE WITNESS: You didn't represent
21 me, did you?
22 MR. HOWES: Yes, I think I did.
23 THE WITNESS: That's all right, you
24 did. It's not high on my -- sorry.
25 Q So Mr. Howes represented you in the case that

Page 8

1 resulted in the defense verdict, where the patient
2 died, you put in the heart catheter?
3 A He died with a heart catheterization, yes.
4 Q Did you do the catheterization?
5 A Yes.
6 Q Was that a dissection, why did the patient die?
7 A A fatal arrhythmia.
8 Q Caused by what?
9 A My speculation, it was a contrast allergy.
10 Q What happened in the case where Mr. Treadon
11 represented you?
12 A Patient had a stroke after thrombolytic therapy.
13 Q You don't remember the name of that case?
14 A No.
15 Q Do you remember the name of the attorney --
16 A Mr. Treadon is the attorney that defended me.
17 Q Do you remember the name of the attorney
18 representing the plaintiff in that case?
19 A Okey.
20 Q Okey.
21 The third case that you say was dropped,
22 what were the allegations in that case?
23 A Patient lost his pulse after heart catheterization.
24 Q Who was the patient's attorney, do you recall?
25 A I don't know if he's alive, it's so long ago, 25,

Page 9

1 30 years ago.
2 Q Are those the only three cases you've been sued in,
3 Doctor?
4 A To the best of my recollection right now, I don't
5 remember any others.
6 Q What is your residence address?
7 A 6881 Glengarry, G-L-E-N-G-A-R-R-Y, Northwest,
8 44718.
9 Q That is in Canton?
10 A Um-hum.
11 Q Your professional address?
12 A 2600 West Tuscarawas.
13 Q By whom are you employed?
14 A Cardiovascular Consultants.
15 Q That is an Inc?
16 A Yes.
17 Q Are you an employee as well as a shareholder?
18 A Yes.
19 Q How many shareholders are there?
20 A I think 11, but I'm not sure.
21 Q How long have you been a shareholder?
22 A Of that and the present corporation, 32 years.
23 Q Is that how long you've been an employee as well?
24 A Um-hum.
25 Q How many notes do you have in the chart pertaining

Page 10

1 to Connie Germanoff, Doctor?
2 A In the progress notes?
3 Q Anyplace in the chart, how many notes do you have,
4 sir?
5 A I haven't counted them.
6 Q Why don't you do it then.
7 MR. STRONG: You want him to look at
8 the progress notes or orders?
9 Q I assume he's looked at the chart before today,
10 right?
11 A Correct.
12 Q Where do you have any writing in this chart, sir?
13 I know you've got a couple progress notes, correct?
14 A Correct.
15 Q Do you have more than two?
16 MR. STRONG: Let's look at them.
17 MR. KAMPINSKI: That is what I asked
18 him to do.
19 MR. STRONG: Not directly.
20 A Two progress notes, two order sheets and
21 recollection is the only other place would be my
22 interpretation of the stress test.
23 Q The two progress notes, are those the two on the
24 same page, both dated December 18th?
25 A Yes.

Page 11

1 Q Do you know what time you would have written those
2 progress notes, sir?
3 A One would be approximately 9:30 in the morning.
4 Q That would be the first one?
5 A Yes.
6 Q That is December 18th?
7 A Correct.
8 Q There is no time on it, correct?
9 A Right.
10 Q Why do you say it would have been roughly 9:30?
11 A Because that is when I did the stress test. I saw
12 her concurrently with the stress test.
13 Q What time would the other one have been?
14 A Approximately 1:15.
15 Q Why is it you say that it's 1:15?
16 A Because the nurses notes signed off my orders when
17 I saw her on the floor.
18 Q The two orders that you are referring to, those are
19 both also dated December 18th?
20 A Correct.
21 Q The first one, when would you have written that.
22 that is called results of adenosine stress test?
23 A Probably when I saw her the first time.
24 Q Again, roughly 9:30?
25 A Probably.

Page 12

1 Q Why would you put an order in there that would be
2 signed off by a nurse to call on the results of the
3 stress test if you in fact were doing the stress
4 test?
5 A Well, I meant to call the results of the nuclear
6 portion of the stress test, which the radiologist
7 reads.
8 Q That is what you meant?
9 A Correct.
10 Q Did she do that?
11 A I got the results.
12 Q My question is, did the nurse call in the results
13 to you of the nuclear portion of the stress test?
14 A I got the results. I'm not sure the direction, if
15 it came from a nuclear technician, or came from a
16 physician, or came from a nurse, I don't know.
17 Q When did you get that?
18 A Before I discharged the patient.
19 Q Sometime between roughly 9:30 and one o'clock?
20 A It would not be before the radiologist interpreted
21 the test.
22 Q What time was that?
23 A Probably I would have to look somewhere between
24 12:30 and 1:00.
25 Q You wrote the okay to discharge at what time?

Page 13

1 A The order was taken off at 1:10, it would have to
2 be between 1:00 and 1:10.
3 Q Then there is a later note on the 18th that says
4 may discharge after 3:00 p.m. if not seen by GI?
5 A Urn-hum.
6 Q That is a telephone order by Dr. Hummel, did you
7 have any discussion with Dr. Hummel?
8 A I do not recall any discussion with him.
9 Q So you said it was okay to discharge her from the
0 cardiac standpoint I take it?
1 A Correct.
2 Q It would have been up to the internist to determine
3 that it was okay to discharge her from any other
4 standpoint?
5 A Correct.
6 Q Would you read your December 18th note for me,
7 progress note?
8 A Feels okay, EKG okay, adenosine scan choking
9 sensation, no EKG changes, sent for scanning.
10 Q Which EKG were you referring to when you say EKG
11 okay?
12 A The EKG during the nuclear scan.
13 Q I don't want to be confused. You've got sent for
14 scanning?
15 A Correct.

Page 14

1 Q Why would you put EKG okay before that?
2 A Because it's a dual mode procedure. One is the
3 electrocardiographic portion and one is the nuclear
4 portion. They are both done.
5 Q So where did you do the EKG?
6 A When the adenosine was given.
7 Q Where was that given?
8 A The location?
9 Q Yes, sir.
0 A Or the part of the body?
1 Q Location?
2 A The location in the hospital?
3 Q Where in the hospital?
4 A On 3 East.
5 Q Where was she located when you saw her?
6 A At what time?
7 Q At 9:30?
8 A I saw her on 3 East.
9 Q She was already there?
10 A Correct.
11 Q Is that the location where the scans are done, or
12 was that a room?
13 A That is where they were done that day.
14 Q She was taken from her room to the location --
15 A Correct.

Page 15

1 Q -- in anticipation of receiving the scan?
2 A Correct.
3 Q Did you administer the EKG?
4 A I supervised it.
5 Q Who did it?
6 A A nurse technician.
7 Q Was a record kept of the EKG?
8 A Correct.
9 Q Where is it?
10 A The summary of it is here in the chart.
11 Q Where is the EKG itself?
12 A I'll show it to you. Here it is.
13 MR. KREMER: What is the page number
14 at the bottom for us in the back?
15 MR. STRONG: I don't have a page
16 number.
17 Q Does it have a page number on it?
18 MR. STRONG: I don't see a page
19 number.
20 MR. KAMPINSKI: Mine looks like it says
21 178, right by your hand, Rick?
22 MR. STRONG: Got you, yes. We've
23 got the same page.
24 MR. KREMER: Thank you.
25 Q That says adenosine pretest, correct?

Page 16

1 A Correct.
2 Q What does that mean?
3 A Baseline electrocardiogram before we do the test.
4 Q Why do you do a baseline EKG before you do the
5 test?
6 A So you have a comparison with the end of the test.
7 Q You want to do an EKG before, you want to do one
8 after?
9 A Correct.
10 Q The purpose of that is what?
11 A To see if there is any ischemia.
12 Q Where is the one that was done after?
13 A The one that is done after is computerized format
14 on the chart here. I don't know how to describe
15 the page.
16 Q It should have a number. Is that the same as this?
17 A Yes.
18 Q Mine is number 180, yours is whited out?
19 MR. STRONG: Yeah.
20 Q Are you saying page 180 is the EKG that was taken
21 after the administration of the adenosine?
22 A During and after.
23 Q So how do I tell, are these the times up here at
24 the top?
25 A Correct.

| Page 17 | Page 19 |
|---|--|
| <p>1 Q What time was the test in fact done, sir, 9:32?</p> <p>2 A 9:32.</p> <p>3 Q These times up here?</p> <p>4 A Time infusion started.</p> <p>5 Q You are going to have to explain the times to me</p> <p>6 then. Across the top it says starts with 0000,</p> <p>7 would that be the time the test started?</p> <p>8 A It would be the time -- it would be the</p> <p>9 computerized baseline record, could be three</p> <p>10 minutes, five minutes, 10 minutes before. It</p> <p>11 probably would be very similar to the time the</p> <p>12 baseline EKG was taken.</p> <p>13 It depends between the time we take the</p> <p>14 baseline and the time we start the test. I would</p> <p>15 say it would be taken very close to the 9:32 clock.</p> <p>16 Q Well, the next time I saw says 1733, which in</p> <p>17 military time is 5:33 I guess?</p> <p>18 A Where is that at? I'm sorry, I don't see it.</p> <p>19 Q Yours is cut off, Doctor. Do you have one he can</p> <p>20 look at?</p> <p>21 MS. PETRELLO: Yes.</p> <p>22 A I can tell you that I have no clue. It's got to be</p> <p>23 something that they must have reset the computer or</p> <p>24 something. It's just a time clock. I don't know.</p> <p>25 Q Well, okay, the next one says?</p> | <p>1 don't use the same lead system for stress tests we</p> <p>2 use for baseline record.</p> <p>3 Q Did you ever look at any old EKG on Connie</p> <p>4 Germanoff before doing this stress test?</p> <p>5 A I looked through her chart. I'm sure I looked at</p> <p>6 the records. I have to find the baseline EKG to</p> <p>7 tell you if it's different.</p> <p>8 Q Go ahead.</p> <p>9 A It's meaningless.</p> <p>10 Q It's meaningless that there is an inverted T wave?</p> <p>11 A Yes, meaningless.</p> <p>12 Q It's meaningless that it wasn't there before?</p> <p>13 A Yes.</p> <p>14 Q How many EKGs did you look at before doing the</p> <p>15 stress test, sir?</p> <p>16 A Whatever was in the chart.</p> <p>17 Q How much of her chart did you have?</p> <p>18 A The whole chart.</p> <p>19 Q How long did you spend with it before doing the</p> <p>20 stress test?</p> <p>21 A The chart was with me for 10 minutes.</p> <p>22 Q Before seeing the patient, or while you were with</p> <p>23 the patient?</p> <p>24 A While I was with the patient.</p> <p>25 Q Were you doing a physical examination while you</p> |
| Page 18 | Page 20 |
| <p>1 A One minute after the infusion started.</p> <p>2 Q So that is referring to a minute?</p> <p>3 A Right. One minute to the adenosine infusion.</p> <p>4 Q Next one would be two, three, four, five minutes?</p> <p>5 A Correct.</p> <p>6 Q If we <i>go</i> to page 181, six minutes, and six minutes</p> <p>7 and six seconds if I <i>am</i> reading it the way you told</p> <p>8 me is the correct way to read it?</p> <p>9 A Right,</p> <p>10 Q Doctor, if you go to the pretest for one second,</p> <p>11 page 178. In the aVL lead is there an inverted T</p> <p>12 wave?</p> <p>13 A Yes.</p> <p>14 Q What does that mean?</p> <p>15 A Means the electric forces were going away from that</p> <p>16 lead.</p> <p>17 Q What does that mean in terms of analyzing the</p> <p>18 patient's heart, sir?</p> <p>19 A It means that there was -- the question doesn't</p> <p>20 make sense medically.</p> <p>21 Q Is that new for Connie Germanoff, inverted T wave,</p> <p>22 sir?</p> <p>23 A This is not a standardized EKG lead, number one.</p> <p>24 If it's new, I have to look at her baseline. It</p> <p>25 wouldn't surprise if it would look different. We</p> | <p>1 were with the patient?</p> <p>2 A I talk to the patient, listen to their chest,</p> <p>3 whatever is required at that point, yeah.</p> <p>4 Q You had the nurse -- or you supervised the EKG</p> <p>5 itself, or did you do it?</p> <p>6 A I supervised it. I'm the interpreter.</p> <p>7 Q Then did you do the stress test, or did you</p> <p>8 supervised it?</p> <p>9 A I supervised it.</p> <p>10 Q Who actually did the injection of the contrast</p> <p>11 material?</p> <p>12 A There is no contrast.</p> <p>13 Q The adenosine, I'm sorry?</p> <p>14 A A nurse.</p> <p>15 Q You supervised that?</p> <p>16 A Yes.</p> <p>17 Q Were you there the whole time?</p> <p>18 A Yes.</p> <p>19 Q How long did the test take?</p> <p>20 A It's a four minute infusion. We watch it for</p> <p>21 another four minutes.</p> <p>22 Q Am I correct then you spent two minutes with her</p> <p>23 before the test, you told me you spent 10 minutes</p> <p>24 with her?</p> <p>25 A Well, I didn't have a time clock, I'm sorry.</p> |

Page 21

1 Q I wasn't there, Connie is dead, so I'm asking you?
 2 A I understand. I don't have a time clock.
 3 Q So is it your best estimate you spent two minutes
 4 with her prior to the test?
 5 A No.
 6 Q What is your best estimate?
 7 A My best estimate is I'm not sure.
 8 Q You reviewed the record carefully before conducting
 9 the test?
 10 A I reviewed the record.
 11 Q That wasn't my question.
 12 Did you review the records carefully,
 13 Doctor?
 14 A Yes.
 15 Q If in fact there was a change on this EKG from a
 16 prior one, to you that was not meaningful?
 17 A It's not the same lead system.
 18 Q What can an inverted T wave that didn't exist
 19 before mean?
 20 A It could mean something, could mean nothing,
 21 depends on which lead.
 22 Q Well --
 23 MR. STRONG: Let him finish his
 24 answer.
 25 MR. KAMPINSKI: I'm sorry, you are

Page 22

1 right.
 2 A It's a whole gamut of things from something to
 3 nothing.
 4 Q The something, can the something be ischemia?
 5 A That is one of 50 things.
 6 Q What is the purpose for doing this stress test,
 7 sir?
 8 A To evaluate the ischemic burden of the patient.
 9 Q Was this patient admitted for the purpose of ruling
 10 out an MI?
 11 A Yes.
 12 Q So that a finding, or potential finding of
 13 ischemia, would be something worrisome potentially
 14 to you as a cardiologist trying to evaluate this
 15 patient, trying to rule out an MI, correct?
 16 A Correct.
 17 Q As you sit here today -- did you review this char
 18 before coming in here today for your deposition?
 19 A Yes.
 20 Q Do you know how many prior EKGs there were in the
 21 chart for you to review at the time you saw Connie
 22 on the 18th?
 23 A The hospital charts?
 24 Q Yes, sir, how many EKGs there were for you to
 25 review?

Page 23

1 A I would have to look through the record now.
 2 Q Go ahead.
 3 MR. STRONG: The question is which
 4 would you have reviewed at the time of her
 5 admission.
 6 A The ones in the hospital.
 7 Q You mean for that particular admission?
 8 A Yes.
 9 MR. STRONG: This begins with the ER
 10 chart.
 11 A There is one on the 16th.
 12 Q Where was that done?
 13 A Done at 1050 in the ER.
 14 Q You're saying the lead system on this EKG would be
 15 different than the lead system on the adenosine
 16 pretest?
 17 A Um-hum.
 18 Q What would be different about it, Doctor?
 19 A The electrodes are placed in different areas,
 20 slightly different areas.
 21 Q So the EKG done in the emergency room, does it have
 22 an aVL lead?
 23 A Yes.
 24 Q Where would that have been placed, as opposed to
 25 where the one was placed in the area where she had

Page 24

1 the adenosine pretest?
 2 A There was no aVL lead.
 3 Q Where?
 4 A There is none.
 5 Q In the emergency room?
 6 A You asked for the lead. There is no lead called
 7 aVL. That is the lead on the EKG, and there is a
 8 lead where the electrode is; which one do you
 9 mean?
 10 Q Whenever one you are claiming was different in the
 11 emergency room?
 12 A The electrodes are placed in different places.
 13 Q Where would the electrode be placed in the
 14 emergency room, as opposed to the electrode tha
 15 were placed in the area where you did the pretest?
 16 A There are four electrodes placed on the arm -- two
 17 on the arm, two on the legs, six on the chest. The
 18 ones on the chest are placed in different
 19 locations.
 20 Q Are you talking about the pretest or talking abou
 21 the emergency room?
 22 A The emergency room is done in a standardized
 23 fashion.
 24 Q How is that different, sir, than what is done in
 25 the pretest?

| | |
|--|--|
| <p style="text-align: right;">Page 25</p> <p>1 A The difference is the chest leads are different.</p> <p>2 Q Where would the chest leads be placed differently?</p> <p>3 A They would be placed in a different location so the</p> <p>4 gamma camera doesn't interfere, the gamma camera</p> <p>5 information is not interfered with the electrode</p> <p>6 being over it.</p> <p>7 Q Are you saying then that had the leads in the</p> <p>8 emergency room been placed in a different area of</p> <p>9 the chest, they may have had this T wave that is</p> <p>10 reflected on the 18th?</p> <p>11 A It could make a difference. Sometimes they put the</p> <p>12 leads, they are supposed to put the leads on the</p> <p>13 lower extremities, on the upper part of the</p> <p>14 extremities. We often use a closer lead system in</p> <p>15 the stress room where we put them on the thighs and</p> <p>16 forearms. We may use a little different system.</p> <p>17 It does change the EKGs occasionally.</p> <p>18 Q You don't know if in fact the leads that were put</p> <p>19 on in the emergency room were put on in any</p> <p>20 different place, you weren't there I take it for</p> <p>21 the placement of the leads in the emergency room;</p> <p>22 would that be a fair statement?</p> <p>23 A It's a fair statement that they were put on</p> <p>24 correctly.</p> <p>25 Q That what?</p> | <p style="text-align: right;">Page 27</p> <p>1 Would you expect there to be an inverted</p> <p>2 T wave in the EKG that was done in the emergency</p> <p>3 room if in fact the leads were placed appropriately</p> <p>4 -- let me finish the question -- in light of the</p> <p>5 fact there is an inverted T wave in the EKG done on</p> <p>6 the 18th that you were supervising?</p> <p>7 A Again, I can answer the question but the question</p> <p>8 doesn't make medical sense.</p> <p>9 Q Then answer it.</p> <p>10 A The QRS and T waves are supposed to have concordant</p> <p>11 axis in the normal patient. That means that</p> <p>12 whatever the axis is on that EKG at that time</p> <p>13 should be plus or minus 60 degrees of each other,</p> <p>14 and they both are, in both records. Doesn't matter</p> <p>15 if it is inverted or not inverted, inversion is not</p> <p>16 a pathology.</p> <p>17 Q The fact that there is a change though, that there</p> <p>18 isn't one in the emergency room EKG and there is</p> <p>19 one in the EKG that was supervised by you on the</p> <p>20 18th is of no significance to you?</p> <p>21 A That statement is wrong on your part.</p> <p>22 Q What is wrong about it?</p> <p>23 A There is an inverted T wave in the one in the ER.</p> <p>24 If you look right under aVL, the T wave is</p> <p>25 inverted.</p> |
| <p style="text-align: right;">Page 26</p> <p>1 A They were put on correctly, that is a fair</p> <p>2 statement.</p> <p>3 Q If they were put on correctly, would you expect</p> <p>4 them to find a T wave if in fact it existed,</p> <p>5 inverted T wave, I'm sorry?</p> <p>6 A Inverted T wave?</p> <p>7 Q Yes.</p> <p>8 A I'm sorry, it doesn't make sense to me as a</p> <p>9 cardiologist what you are asking. It's hard for me</p> <p>10 to answer.</p> <p>11 Q What doesn't make sense, Doctor?</p> <p>12 A I don't look at T waves. I look at vectors. I</p> <p>13 look at vectors of the complexes. You put the</p> <p>14 whole thing together, you are supposed to have a</p> <p>15 concordant -- the electric depolarization and</p> <p>16 electric repolarization are supposed to be</p> <p>17 concordant.</p> <p>18 Q Humor me because I'm not a cardiologist, you are.</p> <p>19 A You are asking questions like you are.</p> <p>20 Q No, I'm just asking questions, I would like answers</p> <p>21 to them.</p> <p>22 MR. STRONG: He's giving you</p> <p>23 answers, he's trying to steer you so you and he can</p> <p>24 communicate in a rational way here.</p> <p>25 Q Let's try again.</p> | <p style="text-align: right;">Page 28</p> <p>1 Q Point it out to me, if you would, sir.</p> <p>2 A aVL, that is the T wave that is inverted.</p> <p>3 Q Do you need serial EKGs to rule out MI, Doctor?</p> <p>4 A Not necessarily.</p> <p>5 Q Can you rule them out with one EKG? Let me</p> <p>6 withdraw that.</p> <p>7 Can you rule them out with EKG at all?</p> <p>8 A What?</p> <p>9 Q An MI?</p> <p>10 A Rule it out?</p> <p>11 Q Yes, sir.</p> <p>12 A No, you can't.</p> <p>13 Q If a patient has a suboptimal stress test, and</p> <p>14 complains of chest pain and fast palpitations with</p> <p>15 exertion, does that require further evaluation?</p> <p>16 A Is this a hypothetical question?</p> <p>17 Q Sure.</p> <p>18 A Repeat it please.</p> <p>19 Q If someone has a suboptimal stress test and</p> <p>20 continues to complain of chest pain and fast</p> <p>21 palpitations with exertion, does that require further</p> <p>22 evaluation?</p> <p>23 A Yes.</p> <p>24 Q Would the next step in the evaluation process be a</p> <p>25 Holter monitor?</p> |

Page 29

1 A That would be one of the tests.
 2 Q What else could you do, or is that what you would
 3 do?
 4 A Well, that -- there is more to it than -- it's not
 5 a simplistic answer. One would be if you want to
 6 do invasive testing, you would do an optimal stress
 7 test.
 8 Q By invasive testing you are talking
 9 Catheterization?
 10 A Correct.
 11 Q It's my understanding we were delayed here today
 12 because you had a number of catheterizations you
 13 did?
 14 A Correct.
 15 Q How long did they take you, each one, roughly?
 16 MR. STRONG: Objection. That is not
 17 pertinent, he doesn't have to answer that.
 18 Q How long does a catheterization take you to do?
 19 A Uncomplicated catheterization?
 20 Q Sure.
 21 A Patient time, doctor time, or time from start to
 22 finish?
 23 Q Give me all three.
 24 A Come down from the floor, that takes a half an
 25 hour. Then the nurses prep them for 35, 45 minutes

Page 30

1 to make sure the patient is explained and
 2 understands and everything, the lab work is
 3 correct. It takes about 20 minutes in the room to
 4 get the patient ready. It takes about, in an
 5 uncomplicated, simple patient, 20, 25 minutes to do
 6 a heart catheterization. Then the patient is
 7 recovered like an hour. Then they go back up to
 8 the floor, are monitored.
 9 Q So doctor time is roughly 20 minutes you said?
 10 A 20 minutes in the room. Obviously there is more to
 11 it than that.
 12 Q Patient time roughly a couple hours?
 13 A Correct, yeah.
 14 Q Do you do catheterizations on patients with dye
 15 contrast allergies?
 16 A Not infrequently.
 17 Q Not infrequently?
 18 A Yes, we do it when it's necessary.
 19 Q What do you do to protect the patient under those
 20 circumstances?
 21 A Prepare, if it's an elective one, we prepare them
 22 for several days on steroids, anti-histamines,
 23 serotonin blocking agents.
 24 Q The fact that somebody had potentially a dye
 25 contrast allergy is not something that would

Page 31

1 prevent you as a cardiologist from doing a
 2 catheterization if you felt it was warranted?
 3 A Yes, but my level of desire to do it -- my level of
 4 concern would be higher and the patient would be
 5 aware of it.
 6 Q That wouldn't prevent you from doing it, if you
 7 felt it was necessary and warranted?
 8 A Correct.
 9 Q Going back just for a moment to the hypothetical I
 10 asked you about suboptimal stress test with the
 11 patient continuing to complain of chest pain, fast
 12 palpitations with exertion, would a cardiology
 13 consult be appropriate in such a patient?
 14 A If the patient doesn't get better, that would be
 15 appropriate.
 16 Q Did you perform any type of physical examination on
 17 Connie Germanoff, or did you just speak with her?
 18 A I examined her during the scan.
 19 Q What was the nature of the exam?
 20 A Heart and lungs.
 21 Q What kind of exam did you conduct during the exam?
 22 A I used a stethoscope, listened to her lungs and
 23 listened to her heart. Measured -- made sure vital
 24 signs were satisfactory.
 25 Q How is it you knew you were doing a test on Connie

Page 32

1 that particular day?
 2 A It was scheduled for me.
 3 Q Have you read Dr. Lee's deposition?
 4 A Yes, I read it a while ago. Not recently.
 5 Q He apparently was going on vacation?
 6 A Correct.
 7 Q The day before?
 8 A Correct.
 9 Q Had he arranged with you to cover for his patients
 10 before going on vacation?
 11 A Correct.
 12 Q Did he discuss Connie Germanoff with you prior to
 13 going away?
 14 A In writing.
 15 Q In other words, his charting was in the chart?
 16 A Plus we have a list that goes around, they put down
 17 problems.
 18 Q Explain that to me.
 19 A We have a rounds listing we make up when we are
 20 having someone cover for us, the name of the
 21 patient, location, diagnosis and problems.
 22 Q That is not something in the medical chart?
 23 A No, it's not kept.
 24 Q That is something internally within your group so
 25 YOU know who it is YOU are going to see for whoever

Page 33

1 you are covering for?
2 A Right.
3 Q Was that a Saturday you saw her, the 18th?
4 A Yes, I think so.
5 Q Your communication would have consisted of whatever
6 he put on that sheet, right?
7 A Um-hum.
8 Q Do you recall what that was?
9 MR. STRONG: He also mentioned the
10 consult.
11 MR. KAMPINSKI: I'm going to get to
12 that.
13 A What it was?
14 Q Yeah, what was said on that rounding sheet?
15 A I can't give you a for certain answer.
16 Q In other words, you can't remember as you sit here
17 today?
18 A Correct.
19 Q The other form of communication would have been in
20 the chart?
21 A Correct.
22 Q What part of the chart would you have looked at to
23 know what you were doing here?
24 A I would look at the -- whatever was in the chart.
25 Specifically whatever was in the chart at that

Page 34

1 time, which would have consisted of his consult
2 note. Mostly his consult note would have been my
3 major thrust of looking at things, plus the lab
4 work and EKGs.
5 Q When you say his consult note, tell me what you are
6 referring to, is it the --
7 A Well, I looked through the progress sheets. He has
8 no note there. Then he has a typed one.
9 Q When would you have looked at the progress notes,
10 the typed one as well as the --
11 A Correct.
12 Q -- handwritten, when would you have looked at
13 those, Doctor?
14 A Probably during the scan.
15 Q Let's back up then.
16 How would you have known you were doing the
17 scan, would this have been from the other document
18 that you referred to earlier, the rounding form, or
19 would you have a printed schedule telling you, how
20 would you have known when you got to the hospital
21 that day you were doing a test on Connie Germanoff?
22 A The general procedure I can't tell you. I don't
23 suspect it's any different than any other day. We
24 know we're making rounds, we know of the stress
25 test on Saturday morning. The nurse calls when

Page 35

1 they are ready, tells you you have a stress test.
2 Q Would you have been the representative from your
3 group that would have been doing stress tests that
4 day in the hospital?
5 A Correct.
6 Q Were you the one that was on call?
7 A Correct.
8 Q So, regardless of whether it was Dr. Lee's patient
9 or somebody else from your group, there would have
10 been a number of people needing procedures, you
11 being the one on call, you would have administered
12 those procedures?
13 A Correct.
14 Q Your first knowledge of doing it on Connie
15 Germanoff would have been some kind of a page or
16 phone call from a nurse?
17 A Correct.
18 Q Saying it's time to do Mrs. Germanoff's stress
19 test?
20 A Correct.
21 Q Tell me what happens then, you go to the room where
22 the test is being conducted?
23 A Correct.
24 Q The chart is there?
25 A Correct.

Page 36

1 Q You speak to her, you talk to her?
2 A Um-hum.
3 Q The EKG is being performed on her, the pretest?
4 A Correct.
5 Q Then the test is actually done?
6 A Correct.
7 Q Then what, you leave the room and wait for the
8 nuclear results to come back to you?
9 A After the patient is stabilized, make sure they are
10 all right. The EKG doesn't show any changes, or
11 whatever it shows. Then we leave. I tell the
12 patient that part. I give the patient some
13 information about what it shows usually.
14 Then I wait for the scanning and
15 computerization of it and interpretation of it.
6 Q While the test was going on, you said you would
7 have looked at Dr. Lee's note, correct?
8 A Correct.
9 Q You would have read both of them I take it?
10 A Yes.
11 Q Did you check the enzymes before conducting the
12 test, were you aware of them?
13 A I read through the chart, so I was aware of them.
14 Q What did you think of them?
15 A Well, you mean -- what did I think of them? I'm

Page 37

1 not sure what you mean by that.
 2 Q Were you aware of troponin and myoglobin levels,
 3 Doctor?
 4 A Yes.
 5 Q What did you think of them?
 6 A Well, myoglobin was elevated.
 7 Q How elevated?
 8 A The one in the emergency room was 130, and the one
 9 the next morning was 145. The next one was 140.
 10 Q Were you aware of those before you did the test?
 11 A Yes.
 12 Q Didn't Dr. Lee say that if they were negative,
 13 referring to the enzymes, then the adenosine
 14 cardiolute stress would be done? I'm looking at
 15 page 168 which is his progress note, which you
 16 claim to have read?
 17 MR. STRONG: Would you repeat the
 18 question? His consult note is up here.
 19 THE WITNESS: I want to read his
 20 consult note for a second.
 21 MR. STRONG: She is going to read
 22 it.
 23 MR. KAMPINSKI: Give him a second.
 24 MR. STRONG: She is going to read
 25 it.

Page 38

1 MR. KAMPINSKI: If he wants to read it,
 2 let him read it.
 3 (Question read.)
 4 A What Dr. Lee said is if cardiac enzymes -- check
 5 cardiac enzymes, if negative. Well, myoglobin is a
 6 nonspecific enzyme, so I specifically wouldn't call
 7 it a cardiac enzyme, I call it a muscle enzyme.
 8 Q Is the heart a muscle?
 9 A Yes.
 10 Q Go ahead.
 11 A I would interpret that as being a cardiac specific
 12 enzyme.
 13 Q Why was the -- why is the myoglobin enzyme tested
 14 for, Doctor?
 15 A That is a good question.
 16 Q What is the answer?
 17 A It goes up very rapidly in myocardial infarction
 18 and falls rapidly. It also goes up if someone does
 19 too much exercise that day, falls down and bumps
 20 themselves, injures their arm, any kind of a muscle
 21 injury. It's so nonspecific that at any one time
 22 10 or 20 percent of hospitalized patients that come
 23 in with medical problems might have it elevated.
 24 You put it together as a gestalt. You don't use
 25 it -- you look at it, say okay, see what else is

Page 39

1 going on. I wouldn't call it a cardiac enzyme.
 2 It's a very nonspecific enzyme.
 3 MR. STRONG: Time out. We can take
 4 a break if you need to call.
 5 (Recess taken.)
 6 MR. KAMPINSKI: Where did we leave
 7 off?
 8 (Record read.)
 9 Q I take it then you looked at the laboratory results
 10 at the same time -- well, did you look at them
 11 before you looked at Dr. Lee's consult, or after,
 12 or at the same time?
 13 A The whole chart was there, I looked through it. I
 14 can't tell you which, I don't know what order I
 15 looked at it.
 16 Q Well, I mean Dr. Lee was your colleague?
 17 A Correct.
 18 Q You are there because he wanted this test done I
 19 assume?
 20 A Correct.
 21 Q I assume you would have read his consult first?
 22 A Correct, he had that information.
 23 Q I'm sorry?
 24 A He had the myoglobin information when he dictated
 25 his note.

Page 40

1 Q And the troponin?
 2 A Yes, I think so.
 3 Q So you would have relied on Dr. Lee interpreting
 4 the myoglobin and troponin for purposes of
 5 proceeding with the test?
 6 A Correct.
 7 Q Are you saying that you didn't look at those levels
 8 yourself since Dr. Lee had?
 9 MR. STRONG: He said he already
 10 did.
 11 A I already answered the question.
 12 Q Answer it again, maybe I didn't understand.
 13 A I looked at the whole chart.
 14 Q I'm asking about a specific part of the chart, the
 15 laboratory values?
 16 A Yes, I looked at them.
 17 Q Did you look at them after you read Dr. Lee's
 18 consult?
 19 A It was the same time I saw his consult and the
 20 chart is there.
 21 Q You told me a little earlier in this deposition
 22 that you looked at his consult and now I take it
 23 the lab values, at the time that the test was being
 24 done?
 25 A Correct.

| | |
|---|--|
| <p style="text-align: right;">Page 41</p> <p>1 Q So you didn't look at them beforehand?</p> <p>2 MR. STRONG: I think we're dealing</p> <p>3 with semantics.</p> <p>4 MR. KAMPINSKI: Listen to me, please,</p> <p>5 if you want to object, go ahead and object.</p> <p>6 Talking objections are not appropriate. We don't</p> <p>7 do it with our clients, you shouldn't do it with</p> <p>8 yours.</p> <p>9 MR. STRONG: You'll have to check</p> <p>10 the record from the deposition a few days ago on</p> <p>11 that one. I'm not trying to interfere with your</p> <p>12 deposition, on the other hand I'm trying to</p> <p>13 expedite getting things done.</p> <p>14 MR. KAMPINSKI: There is no question of</p> <p>15 expedition here. We accommodated the doctor</p> <p>16 because he was busy today, as long as it takes is</p> <p>17 how long this is going to take. If you would allow</p> <p>18 me to, I would like to proceed.</p> <p>19 MR. STRONG: You are welcome to</p> <p>20 proceed. You are the one that brought up the</p> <p>21 subject, this is a trail you've gone down. We can</p> <p>22 sit here and argue about it or you can go on. I</p> <p>23 suggestion you go on.</p> <p>24 MR. KAMPINSKI: What was my last</p> <p>25 question?</p> | <p style="text-align: right;">Page 43</p> <p>1 other. I can only tell you what he says.</p> <p>2 Q In other words, if you look at the CK-MB you would</p> <p>3 necessarily look at the myoglobin and troponin?</p> <p>4 A That would be the usual way of doing things.</p> <p>5 Q Of course to the extent he ordered the enzyme, it</p> <p>6 would be appropriate for them to look at them,</p> <p>7 right?</p> <p>8 A Correct.</p> <p>9 Q Just hypothetically, if he failed to do so, would</p> <p>10 that be below the standard of care required of him?</p> <p>11 MR. STRONG: Objection. Go ahead.</p> <p>12 A What do you mean by standard of care?</p> <p>13 Q The usual and ordinary thing that physicians would</p> <p>14 do under the same or similar circumstances?</p> <p>15 A He was away that day, how could he look at them?</p> <p>16 Q No, he wasn't the day the results back came, sir.</p> <p>17 MR. STRONG: Which results are you</p> <p>18 talking about?</p> <p>19 Q The ones done on the 16th and the ones done on the</p> <p>20 17th.</p> <p>21 A The enzymes I can see from the 17th that were done</p> <p>22 was before he left, would be the myoglobin and</p> <p>23 troponin, which it says 8:00 a.m. were drawn.</p> <p>24 Q Would you expect that he had looked at those before</p> <p>25 he left?</p> |
| <p style="text-align: right;">Page 42</p> <p>1 (Question read.)</p> <p>2 A I can't tell you exactly the minute I looked all</p> <p>3 them.</p> <p>4 Q I'm confused here, sir. You are telling me Dr. Lee</p> <p>5 looked at them, you were relying on Dr. Lee having</p> <p>6 looked at them to proceed with the test?</p> <p>7 MR. STRONG: Objection.</p> <p>8 A I said I looked at the chart.</p> <p>9 Q I know.</p> <p>10 A I also said I looked at Dr. Lee's notes.</p> <p>11 Q It's your testimony that Dr. Lee looked at the</p> <p>12 laboratory values?</p> <p>13 A He did look at the laboratory values.</p> <p>14 Q Did he tell you that?</p> <p>15 A It's in his notes.</p> <p>16 Q Point that out to me, please.</p> <p>17 MR. STRONG: You want the consult or</p> <p>18 progress note?</p> <p>19 A His dictation probably. It says in the end of the</p> <p>20 first paragraph, full paragraph, since admission</p> <p>21 she had a CK-MB done which was unremarkable. So he</p> <p>22 did look at them.</p> <p>23 Q You're interpreting that to mean he looked at</p> <p>24 myoglobin and troponin as well?</p> <p>25 A I don't see how he would look at one without the</p> | <p style="text-align: right;">Page 44</p> <p>1 A Yes.</p> <p>2 Q Again, if he had not looked at those, would that be</p> <p>3 below the standard of care required of Dr. Lee?</p> <p>4 A That is a legal term. I'm scared to give legal</p> <p>5 terms. I don't know the significance of the</p> <p>6 question.</p> <p>7 Q Don't be scared, Doctor.</p> <p>8 A I am. I don't know the innuendoes of standard of</p> <p>9 care.</p> <p>10 Q You've been sued three times, certainly you are</p> <p>11 aware of the innuendoes of standard of care,</p> <p>12 Doctor.</p> <p>13 MR. STRONG: That is not a question,</p> <p>14 that is his comment.</p> <p>15 Q Is it appropriate?</p> <p>16 A Yes, he should look at his tests. There is no</p> <p>17 question about that.</p> <p>18 Q That would be part of his doing his job correctly</p> <p>19 correct, to look at the test results?</p> <p>20 A Yes. What are available when he's there, sure.</p> <p>21 Q If he didn't, then he wouldn't have done his job</p> <p>22 correctly; wouldn't that be a fair statement,</p> <p>23 Doctor?</p> <p>24 A That is an open-ended question. That is something</p> <p>25 someone else can decide. I'm not going to make a</p> |

Page 45

1 comment about that.
 2 Q Well, the tests that were available to him
 3 reflected that both the myoglobin and troponin were
 4 rising; isn't that true?
 5 A That what were rising?
 6 Q The myoglobin and troponin?
 7 MR. STRONG: Which tests are you
 8 referring to?
 9 Q Myoglobin and troponin done on December 16, 1999 at
 0 2349, correct, you see those?
 1 A Um-hum.
 2 Q That is a yes, right?
 3 A Yes.
 4 Q The troponin --
 5 A I would say the numbers are higher, I don't know if
 6 it's a significant rise.
 7 Q Well, they went up is my only question?
 8 A They did go up.
 9 Q And the one done on December 16th -- by the way,
 0 troponin is specific for cardiac, is it not?
 1 A Troponin-I is specific for, yes, gives you a long
 2 list below there, long list there of other things
 3 that can do it.
 4 Q They are all cardiac-related, are they not, Doctor?
 5 A Yeah, sure.

Page 46

1 Q The gestalt by the way would be to look at both the
 2 troponin and myoglobin, right?
 3 A And CPK and SGOT.
 4 Q CPK, is that specific for heart?
 5 A CK-MB is, yes.
 6 Q If you have a rising myoglobin and troponin, is
 7 that worrisome to you as a cardiologist, sir?
 8 A It's enough concern that I would follow it up.
 9 Q By doing what?
 0 A By doing more testing.
 1 Q Like what?
 2 A It depends on the clinical situation with the
 3 patient.
 4 Q I don't understand. The patient is there to rule
 5 out MI you told me, correct?
 6 A Well, some of your questions are hypothetical, some
 7 are not. I'm not sure. If you would be clear when
 8 you make a statement. I don't know if you are
 9 talking any patient that walks in the door, or this
 0 patient. If you would be specific about whether
 1 you are talking about this patient or hypothetical,
 2 it would make a lot of help to me.
 3 MR. KAMPINSKI: Can you read back my
 4 last question.
 5 (Question read.)

Page 47

1 Q Is there any confusion about that question, Doctor,
 2 I'm talking about this patient?
 3 A This patient, okay, correct.
 4 Q Yes. The laboratory values we're talking about are
 5 this patient, correct?
 6 A Correct.
 7 Q You just told me that these test results on this
 8 patient would require further follow-up?
 9 A Correct.
 0 Q What further follow-up?
 1 A You would, if they are inconclusive, as these are,
 2 I would do a nuclear scan.
 3 Q Why is it that Dr. Lee wanted to only do the
 4 adenosine scan if the enzymes were negative?
 5 A If they were positive he thought it would be a
 6 duplication of -- let me say what I would do. I
 7 don't know what he would do because -- what I would
 8 do is if there was clear-cut enzyme elevation and
 9 EKG change, then I would think that if there was no
 0 contraindication to it, I would do more definitive
 1 tests, nuclear scan.
 2 Q Which is a heart catheterization?
 3 A Right.
 4 Q The enzyme tests were not negative, were they?
 5 A They were inconclusive.

Page 48

1 Q Please, sir, you want me to be specific, I would
 2 like you to be specific in terms of responding.
 3 MR. STRONG: Objection.
 4 A I am.
 5 Q My question was were they negative, that is the
 6 only question?
 7 A They were above the normal levels, put it that way.
 8 Q Not only were they above the normal level, the
 9 myoglobin was in excess of twice the normal level?
 0 A Correct.
 1 Q The troponin by the time you got there, sir, had
 2 gone from less than .03 to .05, then to .08?
 3 A Um-hum.
 4 Q That is a yes?
 5 A They went up.
 6 Q Is that a good sign, a rising troponin, or doesn't
 7 that mean anything?
 8 A It's inconclusive.
 9 Q Doctor, my question is, is that a good sign, that
 0 the troponin level is rising?
 1 MR. STRONG: I'm going to object.
 2 He answered your question with a very specific
 3 answer.
 4 A What do you mean by good?
 5 Q Is it worrisome?

Page 49

1 A Is there pathology there? Could be, you would have
2 to investigate for further pathology. I don't
3 think illnesses are good or bad. That is what you
4 have.
5 Q Is it worrisome to you as a cardiologist that the
6 troponin level had gone from less than .03 to .05
7 to .08, assuming you knew about it, sir?
8 A As a cardiologist I think they are inconclusive.
9 Q Again my question is, is it worrisome to you as the
10 cardiologist in terms of this rising level of an
11 enzyme that is specific for the heart?
12 A It raises questions about whether something else
13 should be done, yes.
14 Q When you say something else, again, what are you
15 referring to?
16 A More testing, to see if this is cardiac or not.
17 Q What tests, sir?
18 A In this patient, nuclear scan.
19 Q Is nuclear scan definitive in determining -- in
20 ruling out MI?
21 A Significant size, yes.
22 Q I'm sorry?
23 A If it's a significant size, yes.
24 Q If what is a significant size?
25 A The heart attack, myocardial infarction is a

Page 50

1 significant size it will tell you if there is scar
2 there.
3 Q What if you are dealing with ischemia, that isn't
4 necessarily an MI yet?
5 A It should be 90 percent. It should be 90 percent
6 specific for ischemia with a perfusion scan, yes.
7 Q Who takes the risk of the other 10 percent when you
8 have an elevated myoglobin and troponin, the
9 patient?
10 You look puzzled; do you understand the
11 question?
12 A The question seems like an inappropriate question
13 basically.
14 Q Why is that? Mrs. Germanoff is dead, why is it
15 inappropriate, Doctor?
16 A Because you are asking me who is taking a chance,
17 it's not gambling.
18 Q Sure it is. You are giving me percentages. You
19 are the one that brought up 90 percent.
20 A Correct.
21 Q If there is a 10 percent chance of you being wrong,
22 who is taking the chance, you or her?
23 MR. STRONG: I'm going to object.
24 It's an inappropriate question. It's not him, it's
25 the test.

Page 51

1 MR. KAMPINSKI: No, he made it him.
2 A Because we don't treat tests, we treat patients.
3 Q So your treatment of this patient was to determine
4 that she had a 10 percent chance of cardiac
5 involvement, say it was okay for her to go home?
6 A I didn't say that at all. You said that.
7 Q That is what are you saying now.
8 A No, I didn't say that. You are distorting the
9 information, sir.
10 Q What am I distorting? Did I mislead you as to the
11 troponin or myoglobin levels, or did I read those
12 from the chart?
13 MR. STRONG: Is the question whether
14 or not you read those from the chart? This is
15 getting argumentative. You can ask questions, you
16 are not here to argue with the doctor.
17 MR. KAMPINSKI: I have no interest in
18 arguing with him.
19 MR. STRONG: Good, because if you
20 do, we might be done. You can ask your questions.
21 MR. KAMPINSKI: I'm trying to.
22 Q You want to answer the question?
23 MR. STRONG: Rephrase the question.
24 A I did not say the patient had a 10 percent chance
25 of having a heart attack. I said the test is

Page 52

1 90 percent specific for heart trouble. That is all
2 I said.
3 Q That means 10 percent of the people are not going
4 to have ischemia show up on this test, right?
5 A Not necessarily so.
6 Q Well then, how many of them, 20, 30 percent?
7 A Depends on Bayes' theory and pretest likelihood of
8 someone having -- I'm giving you a global number
9 that could take care of a whole population in the
10 world. If the pretest likelihood is low, then the
11 sensitivity of the test goes up.
12 Q When you say pretest likelihood, are you talking
13 about people that you do the test on that don't
14 have chest pain for example, that don't have risk
15 factors; what do you mean pretest likelihood?
16 A Pretest likelihood, the population base you are
17 dealing with, what are the chances of them, before
18 you do the test, of them having trouble.
19 Q What was the pretest likelihood of Mrs. Germanoff
20 having a cardiac related complaint, or problem
21 rather?
22 A 50, 60 percent.
23 Q What level of comfort did you have after a negative
24 adenosine stress test, with a rising troponin, and
25 an elevated myoglobin?

Page 53

1 A I think the patient had no evidence of myocardial
2 infarction.
3 Q What about unstable angina, sir?
4 A That was more -- that was really the issue when she
5 came in, was she having unstable angina.
6 Q Are you saying that is not an issue you addressed
7 at the time you saw her?
8 A No, I addressed it.
9 Q Tell me, what was the likelihood of her having
10 unstable angina with an elevated myoglobin, an
11 elevated troponin, which was rising, and a negative
12 adenosine stress test?
13 A I would say 90, 95 percent chance. I would say it
14 was a high likelihood, 90, 95 percent chance she
15 did not have it.
16 Q How do you get rid of the other 5 to 10 percent?
17 A Depends on the situation. The clinical situation.
18 In her case, probably follow her as an outpatient,
19 see how she does.
20 Q If she came back with additional complaints of
21 chest pain, what would you do?
22 A Then I would investigate her.
23 Q By doing what?
24 A I would see what the next EKG looked like, talk to
25 her, see what the symptoms were, make sure she

Page 54

1 didn't have some noncardiac cause,
2 gastrointestinal, gallbladder or whatever. If
3 those -- or if it seemed more likely cardiac pain,
4 I would do a cardiac catheterization on her after
5 preparing her.
6 Q Did you make arrangements for her to be followed as
7 an outpatient?
8 A Her primary care doctor did. I'm sure we made it
9 clear to her if she had more pain, she should get
10 medical attention promptly.
11 Q Have you reviewed the chart in terms of her being
12 seen subsequent to you doing this test?
13 A I scanned that part. I basically looked at the
14 part I knew about at the time of the hospital
15 stay. I did look at the rest of the chart.
16 Q She came back to the emergency room complaining of
17 chest pain and crying, was radiating into her arm?
18 A Um-hum.
19 Q Does that sound like it's cardiac to you, sir?
20 A Yes, I would be very concerned about it.
21 Q Should she have been referred to a cardiologist on
22 those occasions?
23 A It would have been a good idea. Should she be,
24 yes. I wasn't there. I can't say exactly the
25 situation. Patients are more complicated than just

Page 55

1 giving a few sound bytes about what complaints she
2 had,
3 If she was having more chest pain, then it
4 would require, yes, it would be something I would
5 like to see.
6 Q Well, Doctor, you're telling me that you left it up
7 to her attending for her to be followed up, but
8 that was from a GI standpoint.
9 My question is, did you make any
10 arrangement for her to be followed up from the
11 cardiac standpoint, which I take it is what you
12 were telling me a few minutes ago, to eliminate the
13 additional 5 to 10 percent you would have liked to
14 have seen?
15 A Correct.
16 Q Did you make any arrangements for her to be
17 followed up from a cardiac standpoint?
18 A The communication to the patient was that if she
19 has more problems, she should get -- she should see
20 her doctor, or call us.
21 Q She did have more problems, she did see her doctor?
22 A Yes,
23 Q She was seen here in the emergency room again,
24 wasn't she?
25 A That is what I understand.

Page 56

1 Q See the doctors that I talked to from the emergency
2 room -- have you read any of their depositions by
3 the way?
4 A I think I did. Six months ago, I don't know how
5 long.
6 MR. STRONG: I don't know if I sent
7 you those or not.
8 A Maybe I didn't, I don't know.
9 Q Let me paraphrase, I'll try not to misconstrue what
10 they are saying.
11 That is, once they reviewed the fact you
12 did a negative stress test, they were reassured
13 there was no cardiac problem. You see, so I've got
14 this difficulty in trying to analyze this, Doctor.
15 You are telling me they should have
16 referred her back to you if she had additional
17 chest complaints, they are saying well, we already
18 sent her to a cardiologist, he cleared her, said
19 there were no cardiac problems. Help me out. What
20 should have happened in terms of communication so
21 she could have then been followed-up by your group
22 or another cardiologist?
23 MR. STRONG: I am going to object,
24 ask you to restate the question. You gave a lot of
25 preliminary information.

| | |
|--|---|
| <p style="text-align: right;">Page 57</p> <p>1 Q Do you understand the question, Doctor?</p> <p>2 A Now that he interrupted, I need to hear it again.</p> <p>3 Sorry.</p> <p>4 Q In other words, you understood it, until Mr. Strong interjected?</p> <p>5</p> <p>6 A Correct.</p> <p>7 MR. KAMPINSKI: Read it back, Connie.</p> <p>8 (Question read.)</p> <p>9 MR. KREMER: Objection.</p> <p>10 MR. SWITZER: Can I have a continuing</p> <p>11 objection to this so I don't interrupt?</p> <p>12 MR. KAMPINSKI: I will tell you what,</p> <p>13 you can have a continuing object to the entire</p> <p>14 deposition.</p> <p>15 MR. SWITZER: Thanks. Go ahead.</p> <p>16 MS. PETRELLO: Same here.</p> <p>17 MR. KAMPINSKI: You can all have a</p> <p>18 continuing object to the entire deposition.</p> <p>19 MR. STRONG: We'll take it.</p> <p>20 A First of all, I don't think I can tell any patient,</p> <p>21 no matter how many tests, including a heart cath</p> <p>22 that they definitively don't have something wrong</p> <p>23 with their heart. That is an impossible thing,</p> <p>24 number one. It's impossible, unless you've cut</p> <p>25 their heart and chopped it in postmortem. Even</p> | <p style="text-align: right;">Page 59</p> <p>1 that would have caused her myoglobin to be</p> <p>2 elevated?</p> <p>3 A I don't have any information that she did.</p> <p>4 Q You had no other explanation for the elevated</p> <p>5 myoglobin; is that correct?</p> <p>6 A That's correct.</p> <p>7 Q Is there anything on the list for an elevated</p> <p>8 troponin other than cardiac?</p> <p>9 A Yeah, it goes up in renal failure.</p> <p>10 Q Is there any evidence of renal failure with her?</p> <p>11 A No.</p> <p>12 Q Is there anything that would be on the list for</p> <p>13 elevated troponin that is not pathologic?</p> <p>14 A I don't think so.</p> <p>15 Q Now, Doctor, would it be fair to say then based</p> <p>16 upon what you are telling me so far, that you could</p> <p>17 not rule out unstable angina in Connie Germanoff</p> <p>18 based upon your testing and the test results that</p> <p>19 were in the chart; would that be a fair statement?</p> <p>20 A I could say with a high level of certainty she did</p> <p>21 not have large areas of ischemia, or significant</p> <p>22 areas of ischemia at the time of that test.</p> <p>23 Q I don't mean to be glib with you, is that --</p> <p>24 THE WITNESS: I wasn't expecting this</p> <p>25 to go on this long. I'm on call for 12 people</p> |
| <p style="text-align: right;">Page 58</p> <p>1 then you can't be sure, because people die with</p> <p>2 totally normal hearts, no other cause. That</p> <p>3 happens. That is unfortunate, but it does.</p> <p>4 In this case I didn't say the patient had</p> <p>5 no heart trouble. I said I don't know what the</p> <p>6 cause of pain is, it needs further evaluation and</p> <p>7 follow-up. That is it.</p> <p>8 Q What were your discharge instructions for her?</p> <p>9 Did you have any written discharge instructions?</p> <p>10 A No, I didn't because the attending physician is</p> <p>11 supposed to do that.</p> <p>12 Q What were his discharge instructions, sir?</p> <p>13 A I don't have them.</p> <p>14 Q Take a look.</p> <p>15 A The attending physician said in two to three weeks</p> <p>16 see Dr. Korkor, diet, salt restricted, low</p> <p>17 cholesterol.</p> <p>18 Q Was she supposed to follow-up with GI?</p> <p>19 A Yes.</p> <p>20 Q Was there any follow-up with a cardiologist?</p> <p>21 A No.</p> <p>22 Q In your history -- you said you took a history of</p> <p>23 Connie when you met with her; is that correct?</p> <p>24 A I did a supplemental history.</p> <p>25 Q Had she fallen down, or exercised, or done anything</p> | <p style="text-align: right;">Page 60</p> <p>1 tonight. I have to tell my answering service to</p> <p>2 hold things.</p> <p>3 (Recess taken.)</p> <p>4 By Mr. Kampinski:</p> <p>5 Q Doctor, assuming for the <i>sake</i> of argument you were</p> <p>6 aware of the laboratory values for the myoglobin</p> <p>7 and troponin; what was your explanation for the</p> <p>8 elevation?</p> <p>9 A Of these enzymes?</p> <p>10 Q Yes, sir.</p> <p>11 A The troponin is insignificant in our lab, based on</p> <p>12 my clinical experience with this test literally</p> <p>13 thousands of times. And the same with myoglobin,</p> <p>14 very nonspecific test. It's almost to the point we</p> <p>15 shouldn't even do it. Personally I don't order</p> <p>16 them.</p> <p>17 Q So, you just ignored them then, you didn't feel</p> <p>18 they were significant?</p> <p>19 MR. STRONG: Which question is it?</p> <p>20 Q Both.</p> <p>21 MR. STRONG: Take one at a time.</p> <p>22 A I didn't ignore them because I looked at them. I</p> <p>23 did not think -- unless something else correlated</p> <p>24 with it, I wasn't going to use it.</p> <p>25 Q So then Dr. Lee was just wasting the insurance</p> |

Page 61

1 company's money by ordering these tests?
 2 A It was ordered in the emergency department, he
 3 didn't order it.
 4 Q They were just wasting the insurance company's
 5 money by ordering those tests?
 6 MR. HOWES: Objection.
 7 A I don't know what they are doing in the emergency
 8 room, I don't -- I'm not talking about what is
 9 wasting insurance company's money. That is not my
 0 business.
 1 Q Well, is it your business to stay knowledgeable
 2 about the literature?
 3 A Yes, it is.
 4 Q Isn't it true, sir, that there are a number of well
 5 controlled studies that indicate that an elevated
 6 troponin, even in what has been referred to as a
 7 gray zone, correlates to increased cardiac
 8 mortality?
 9 A Above one.
 0 Q I'm sorry?
 1 A Above a level of 1.0, which is about 20 times
 2 higher than this area.
 3 Q 20 times higher than what number?
 4 A The highest number recorded in the chart.
 5 Q Is it the number that is significant, or the fact

Page 62

1 that the level is rising?
 2 A I'm not sure the level is significantly rising
 3 because I'm not sure that the variation from taking
 4 that sample and doing it twice wouldn't give two
 5 different answers.
 6 Q It was done three times serially.
 7 A The same test done at the same time, taking the
 8 specimen, use it putting it in two separate things,
 9 it wouldn't come out with a different number in
 0 that range, because I think the plus or minus of
 1 these tests are such that, and knowing the way it's
 2 done in our laboratory, in this hospital, what the
 3 clinical experience is, is that this is not a
 4 significant rise.
 5 Q Doctor, I'm confused. This hospital, you're
 6 referring to Aultman Hospital?
 7 A Correct.
 8 Q Are you saying they don't know what they are doing
 9 when they put the reference ranges down here?
 0 A I'm saying first of all the reference ranges are
 1 incorrect.
 2 Q They are incorrect?
 3 A Correct, they are incorrect. The top off should
 4 be .15.
 5 Q How long have you practiced at this hospital?

Page 6:

1 A 33 years.
 2 Q Have you had any input into these reference ranges?
 3 A Yes.
 4 Q What was your input into them?
 5 A The input is that at these low levels it's
 6 extremely difficult to make any clear diagnosis out
 7 of them.
 8 Q What positions have you held here at the hospital,
 9 Doctor?
 10 A Chairman of the Department of Internal Medicine,
 11 Chairman of the Cardiac Cath Lab, Chairman of the
 12 Coronary Care Unit, President of the Medical Staff.
 13 Let's see what else. A number of others. Critical
 14 Care Unit Committee, Credentialing Committee. A
 15 lot of the committees.
 16 Q Do you have a CV?
 17 A Not here.
 18 Q You do have one?
 19 A Yeah.
 20 MR. KAMPINSKI: Could you provide that
 21 to us, Mr. Strong?
 22 MR. STRONG: Yes.
 23 Q Tell me again what was your involvement in
 24 establishing the reference ranges that are on this
 25 chart on pages 191 and 192 for both troponin an

Page 64

1 myoglobin?
 2 MR. STRONG: I object. He didn't
 3 say he established them.
 4 A I didn't establish them.
 5 Q You said you had input into them?
 6 A Correct.
 7 Q What was your input?
 8 A My input?
 9 Q Your input into the reference ranges?
 10 A None. At this point, no.
 11 Q At any point in your last 35 years?
 12 A They had concerns that these low numbers were
 13 extremely misleading, they were resulting in a
 14 number of patients having inappropriate
 15 hospitalizations. I don't know if -- I don't know
 16 the time frame, before or after this period of
 17 time, they reanalyzed it, they found out that the
 18 numbers they were using were not correct for this
 19 institution.
 20 Q Did they change them?
 21 A I think they changed -- they worked on the
 22 technique, I don't know the specifics.
 23 Q Have the reference ranges changed --
 24 A First of all --
 25 Q -- since December 18th of 1999?

Page 65

1 A I don't really know if they are using the same
2 company's reagents right now, I don't know.
3 Q The question is have the reference ranges changed
4 since December of 1999?
5 A I don't know when they changed, they did change. I
6 don't know the date. They did change from when
7 they started using it.
8 Q Are you saying they may have changed before
9 December, '99, we're seeing a result of the change
10 on pages 191 and 192?
11 A I don't know.
12 Q Doctor, are you the one that has to deal with these
13 numbers?
14 A That's correct, I am.
15 Q You don't know whether the numbers are meaningful?
16 A I didn't say that. I said these levels are not
17 meaningful.
18 Q They were with Connie.
19 A No, they weren't.
20 Q Is it your testimony that these elevations, Doctor
21 were not cardiac-related; is that your testimony?
22 A I'm saying they are not significantly elevated.
23 Q Are you saying they are not cardiac-related is my
24 question. She died less than two weeks later.
25 A What I'm saying is that everybody has a troponin

Page 66

1 level in their blood. You have it, everybody in
2 this room has it. What the range of normal is,
3 everybody has troponin in their blood, period.
4 Q That is why there is a reference range on these
5 tests, sir, that is what I'm saying.
6 A I'm saying the reference range that we've learned
7 to use is usually above -- usually so obvious it's
8 not even an argument. But usually a reference
9 range at least over .2 or .25 is something to be
10 concerned about any muscle damage.
11 Q Is that the same as cell damage? Are you using
12 the term muscle damage synonymous with cell damage?
13 A Yes, muscle tissue is cells. Yes.
14 Q That is the same as unstable angina?
15 A What?
16 Q Muscle damage?
17 A No.
18 Q Isn't that what it is that you are trying to rule
19 in or out in Mrs. Germanoff is unstable angina?
20 A Unstable angina is no longer unstable angina if
21 there is muscle damage.
22 Q Fine. Don't you want to catch it before it becomes
23 muscle damage; isn't that the point?
24 A In this patient?
25 Q Yes, sir.

Page 6'

1 A Yes, you want to rule out but -- there is a
2 gradation of everything, it's not yes, no.
3 Q In this case it was yes, no, because you said no.
4 A To what?
5 Q Unstable angina, didn't you?
6 A No.
7 Q You didn't?
8 A I didn't stay unstable angina, no. I didn't say
9 that, no.
10 Q Was that part of your job, was to rule out unstable
11 angina in Mrs. Germanoff?
12 A Yes, that was the role is to rule out unstable
13 angina. The way we rule it out is finding out the
14 ischemic burden.
15 Q Is elevated and rising troponin three times in
16 excess of the reference range, and myoglobin twice
17 as much as the reference range, evidence of
18 unstable angina?
19 A No.
20 Q So is it your testimony then that the elevations o
21 both troponin and myoglobin were not cardiac-
22 related; is that your testimony?
23 A My judgment at the time these were not significant
24 abnormalities.
25 Q My question again to you is, is it your testimony

Page 6E

1 that these elevations were not cardiac-related?
2 You can use hindsight if you want, because we know
3 she died of a cardiac incident in two weeks. My
4 question to you now, even looking at it backwards,
5 okay, were these elevations cardiac-related,
6 Doctor?
7 A You are asking me in hindsight, not the way you
8 practice medicine?
9 Q Maybe not the way you practice medicine.
10 A I don't look at the end of the book.
11 MR. STRONG: Wait a minute.
12 A I have to practice medicine before --
13 MR. STRONG: Wait, I have to make an
14 objection here. Ask a question, not a repartee --
15 MR. KAMPINSKI: He started the
16 repartee. If he wants to answer my question, I
17 would be happy to hear it.
18 MR. STRONG: You changed your
19 question.
20 MR. KAMPINSKI: No, I didn't.
21 MR. STRONG: Ask it again, or have
22 it read back, one or the other.
23 Q Were these elevations, sir, cardiac-related, the
24 elevation in myoglobin and troponin?
25 MR. STRONG: He answered that

| Page 69 | Page 71 |
|--|--|
| <p>1 already as to that point in time. Are you asking</p> <p>2 him in retrospect? I don't want to ask your</p> <p>3 questions for you. You asked it two-ways, you just</p> <p>4 asked it what I consider to be ambiguous.</p> <p>5 Q Go ahead, Doctor, answer the question.</p> <p>6 A At the time I saw the patient, I evaluated this</p> <p>7 information, I did not think it was a significant</p> <p>8 clinical abnormality when taken in the global</p> <p>9 situation she was in. Retrospectively, I still</p> <p>10 doubt it.</p> <p>11 Q So what do you attribute the elevated myoglobin and</p> <p>12 troponin to, or don't you attribute it to anything?</p> <p>13 A I attribute it to the plus/minus evaluation our lab</p> <p>14 has.</p> <p>15 Q Correct me if I'm wrong, isn't the plus/minus the</p> <p>16 reference range?</p> <p>17 A No, the plus/minus is -- if I can use an analogy</p> <p>18 maybe. If you have a cholesterol level of 200, the</p> <p>19 FDA approves a lab work that will have a number</p> <p>20 between 180 and 220. If the test comes out to 200,</p> <p>21 they say that is still within the range.</p> <p>22 There is a range this test has. As you can</p> <p>23 see, the numbers are tiny, minuscule numbers.</p> <p>24 Imperceptible measurements and you are talking .04,</p> <p>25 .08, minuscule amount. This is a tiny little</p> | <p>1 these numbers could have in fact been significantly</p> <p>2 higher in this particular lab, even though these</p> <p>3 numbers are reported as what they are, if you are</p> <p>4 not trusting the numbers?</p> <p>5 MR. HOWES: Objection.</p> <p>6 MR. KREMER: Objection.</p> <p>7 MR. KAMPINSKI: Anybody else?</p> <p>8 MS. PETRELLO: I thought we had a</p> <p>9 continuing.</p> <p>10 MR. KAMPINSKI: I thought you did too.</p> <p>11 Q Go ahead.</p> <p>12 A That's your interpretation of it, that's not mine.</p> <p>13 Q Isn't that what labs do, test little numbers? You</p> <p>14 are making it seem as though little numbers are</p> <p>15 somehow not to be trusted, isn't that precisely</p> <p>16 why we have laboratories?</p> <p>17 A Well, that is because you don't know what goes on</p> <p>18 in a lab, to know that labs are all gradations of</p> <p>19 information. Measuring things and biologic systems</p> <p>20 are not yes/no, this/that, it's always -- there are</p> <p>21 always subtleties to these tests.</p> <p>22 Q Did you pick up the phone and call the laboratory</p> <p>23 when you looked at these results, to determine the</p> <p>24 subtleties in this particular test, or did you</p> <p>25 order another test for example?</p> |
| Page 70 | Page 72 |
| <p>1 difference. In absolute numbers it's tiny. You</p> <p>2 can use statistics to make it sound like it's</p> <p>3 double or triple. You know, if you have one ant,</p> <p>4 that is small; two ants, that is huge because it's</p> <p>5 double. That is about what it amounts to. It's</p> <p>6 nothing.</p> <p>7 Q Well, are you saying that the plus/minus can be</p> <p>8 double the actual numbers that are recorded here?</p> <p>9 A I don't know. I know from clinical experience of</p> <p>10 seeing this in hundreds, hundreds of patients in</p> <p>11 this hospital, that these numbers are not enough to</p> <p>12 make a diagnosis with.</p> <p>13 Q Based upon your vast experience then, what would</p> <p>14 you say the plus/minus is on these laboratory</p> <p>15 results?</p> <p>16 MR. STRONG: He already answered the</p> <p>17 question.</p> <p>18 MR. KAMPINSKI: No, that he hasn't</p> <p>19 said.</p> <p>20 A If the number is over .2, .25, I would say that it</p> <p>21 is significant. Unless I ran a series of tests,</p> <p>22 with 20 or 30 of them, I wouldn't be able to tell</p> <p>23 you. I don't think that I could answer that</p> <p>24 question without running a scientific test.</p> <p>25 Q So am I correct that what you are saying is that</p> | <p>1 A Yes, SGOT.</p> <p>2 Q When did you order that?</p> <p>3 A It's on the chart there.</p> <p>4 Q How will that tell you if --</p> <p>5 A That goes up in cardiac damage.</p> <p>6 Q How does that tell you whether the myoglobin and</p> <p>7 troponin is rising, if it's accurate?</p> <p>8 A My base of information comes from the medical</p> <p>9 literature and taking care of thousands of</p> <p>10 patients, including taking care of dozens of</p> <p>11 patients every week with chest pain syndromes.</p> <p>12 I know from experience and from doing</p> <p>13 cardiac cath, and from getting consults on</p> <p>14 patients with these low levels, knowing that we</p> <p>15 find nothing in most of these patients. I have a</p> <p>16 vast personal experience in taking care of patients</p> <p>17 and dealing with it. Having done heart cath in</p> <p>18 patients with these minuscule numbers, 99 percent</p> <p>19 of the time it's meaningless in those levels.</p> <p>20 Q Are you saying that you do do heart cath on</p> <p>21 patients with numbers such as Mrs. Germanoff?</p> <p>22 A I stopped because in those levels we got very</p> <p>23 limited information. Limited information and we</p> <p>24 felt it was not appropriate, so that was my</p> <p>25 clinical experience in this institution.</p> |

| | |
|---|---|
| <p style="text-align: right;">Page 73</p> <p>1 Q Why did you order the SGOT?</p> <p>2 A Because it's another cardiac enzyme. It's a</p> <p>3 nonspecific enzyme, it is a cardiac marker.</p> <p>4 Q Is that more specific than troponin or myoglobin?</p> <p>5 A Yes, more specific than myoglobin.</p> <p>6 Q How about troponin?</p> <p>7 A No.</p> <p>8 Q Isn't it a fact that is a liver enzyme, Doctor?</p> <p>9 A It also goes up in cardiac damage.</p> <p>10 Q If we're talking about unstable angina, which I</p> <p>11 thought we were talking about, then would you have</p> <p>12 expected to see an increase in SGOT?</p> <p>13 A I wouldn't expect to see any elevation of enzymes</p> <p>14 with unstable angina.</p> <p>15 Q Including myoglobin and troponin?</p> <p>16 A I don't think they should go up. Once you have</p> <p>17 muscle damage, it's no longer unstable angina. My</p> <p>18 definition of unstable angina means there is</p> <p>19 ischemia. We may be talking about different</p> <p>20 things.</p> <p>1 Q Give me your definition?</p> <p>2 A My definition of unstable angina is transient</p> <p>3 ischemia to the heart, without muscle damage.</p> <p>4 Q Something is causing a limit of blood supply to the</p> <p>5 heart?</p> | <p style="text-align: right;">Page 75</p> <p>1 MR. HOWES: Want to take a vote on</p> <p>2 that?</p> <p>3 MR. KAMPINSKI: I'm sorry, I didn't</p> <p>4 hear that.</p> <p>5 MR. HOWES: I said maybe we should</p> <p>6 take a vote on that.</p> <p>7 MR. KAMPINSKI: I'm not sure I</p> <p>8 understand it.</p> <p>9 MR. HOWES: Do you think he was</p> <p>10 going to say it was better for her to die?</p> <p>11 MR. KAMPINSKI: Is that your vote?</p> <p>12 MR. HOWES: No, that is not my</p> <p>13 vote. That is why I don't understand question.</p> <p>14 Q How do you get an elevation in troponin, Doctor,</p> <p>15 how does that happen within the body, what causes</p> <p>16 it to happen?</p> <p>17 A Well, the most common cause is muscle damage to the</p> <p>18 heart. Heart muscle damage.</p> <p>19 Q Is there leakage from the cells that causes that?</p> <p>20 A Yes.</p> <p>1 Q Does unstable angina cause leakage from the Cells?</p> <p>2 A Not usually to a significant degree. I wouldn't</p> <p>3 say that -- I don't know how you would</p> <p>4 differentiate on clinical grounds between muscle</p> <p>5 damage and unstable angina. I'm sorry, I don't</p> |
| <p style="text-align: right;">Page 74</p> <p>1 A Um-hum.</p> <p>2 Q A stricture in one of the arteries perhaps?</p> <p>3 A Um-hum, and it's transient.</p> <p>4 Q That would be a good time of course to catch that</p> <p>5 problem, before in fact it caused muscle damage,</p> <p>6 wouldn't it?</p> <p>7 A Yes.</p> <p>8 Q Because that would be the optimal time to have</p> <p>9 intervention, bypass or stent or something, to</p> <p>0 prevent a heart attack and prevent somebody from</p> <p>1 dying?</p> <p>2 A You are talking in general terms now, not about</p> <p>3 this patient?</p> <p>4 Q Fine.</p> <p>5 A I want to know what are you getting at, there are a</p> <p>6 lot of subtleties to your question, I want to make</p> <p>7 sure I'm answering them correctly.</p> <p>8 Q In general?</p> <p>9 A In general, it's a good idea. There is more to it</p> <p>0 than that.</p> <p>1 Q It would have been a good idea with this patient in</p> <p>2 particular, wouldn't it?</p> <p>3 A To what?</p> <p>4 Q To have prevented her from dying?</p> <p>5 A Oh. yeah. sure.</p> | <p style="text-align: right;">Page 76</p> <p>1 know how would you separate the two.</p> <p>2 Q Maybe by virtue of the troponin being in the gray</p> <p>3 range, something above the reference range, but</p> <p>4 below the muscle damage range?</p> <p>5 A There is always a gradation.</p> <p>6 Q I don't understand what you are --</p> <p>7 A There is always gradation between muscle that it</p> <p>8 ischemic and muscle that is totally necrotic, or</p> <p>9 dead. So I would say there is a gradation going</p> <p>0 from one to another, yes. There might be a little</p> <p>1 bit of an elevation, sure.</p> <p>2 Q The smaller the number, the less muscle damage, but</p> <p>3 yet, if it's an enzyme that is specifically related</p> <p>4 to being cardiac, such as troponin, that is</p> <p>5 evidence to you as a cardiologist, is it not, of</p> <p>6 there being unstable angina causing ischemia and</p> <p>7 cell leakage?</p> <p>8 A If it's up significantly, yes.</p> <p>9 Q We're back to the issue of whether or not the</p> <p>0 reference range at this particular hospital where</p> <p>1 you practice, you've apparently been the Chairman</p> <p>2 of Medicine, all sorts of things, is an accurate</p> <p>3 reflector whether or not there is leakage from</p> <p>4 cells establishing unstable angina.</p> <p>5 MR. STRONG: That is a statement.</p> |

Page 77

1 MR. KAMPINSKI: It's a question.
 2 MR. STRONG: I didn't hear a
 3 question.
 4 A Typically if you have enzyme elevation, I no longer
 5 call it unstable angina. I'm not saying for sure
 6 that unstable angina may cause a leak in --
 7 transiently in troponin, if I see an elevation in
 8 troponin, I no longer call it unstable angina, then
 9 it's myocardial infarction.
 0 Q What levels of troponin elevation do you call it
 1 unstable angina?
 2 A I don't.
 3 Q Ever?
 4 A I don't use troponin to make a diagnosis of
 5 unstable angina.
 6 Q Can you have an elevation in troponin in the gray
 7 zone, that can be unstable angina?
 8 A You can also have it in the normal range.
 9 Q So the answer to my question is yes, you can?
 0 A Um-hum.
 1 Q That is a yes?
 2 A Give me the question again.
 3 Q Can you have an elevation of troponin in the gray
 4 zone that can be reflective of unstable angina?
 5 A You could speculate that, sure.

Page 78

1 Q I'm not asking you to speculate. I'm saying as a
 2 matter of medical fact, can you have an elevation
 3 of troponin in the gray zone, that is reflective of
 4 unstable angina?
 5 A Let's put it in two senses. Can you have it in
 6 theory, yes. Can you in practical terms figure
 7 that out, no.
 8 Q You can figure it out by doing a catheterization?
 9 A No.
 0 Q You can't?
 1 A No.
 2 Q What would a catheterization on Connie Germanoff
 3 have shown? You looked at the autopsy, correct?
 4 A When we saw her or when she died?
 5 Q You saw the autopsy when she died. If you had done
 6 a catheterization when you saw her, what would it
 7 have shown in light of the autopsy?
 8 MR. KREMER: Objection.
 9 A That would be speculation.
 0 Q Why?
 1 A Because I don't know what it would look like then,
 2 I didn't look at it then. It's changed obviously
 3 between the time I saw her and the time she died.
 4 Q She had blockage at the time of the autopsy?
 5 A Right.

Page 79

1 Q That is not a trick question. If you had done a
 2 catheterization, you presumably you would have seen
 3 the blockage?
 4 A The mechanism of myocardial infarction is a
 5 ruptured plaque. You can have a plaque on the wall
 6 you don't see arteriographically. The plaque
 7 cracks, breaks, ruptures, a clot forms under it and
 8 pushes it into the wall. You could have an
 9 arteriogram theoretically a week before, a plaque
 10 could rupture and a patient could die.
 11 In fact, most serial studies show that
 12 patients that have myocardial infarctions
 13 documented do have heart disease. The plaques that
 14 you think are the worst are the ones that don't
 15 cause the next heart attack, it is the mild ones
 16 that often cause it. I'm not saying this is her
 17 case. You want me to give you a definitive answer,
 18 or you want me to speculate?
 19 Q I'm just asking you is it likely had you done a
 20 catheterization you would have seen the blockage
 21 they ultimately saw on autopsy?
 22 MR. KREMER: Objection.
 23 A I would say that would be speculation. Do you want
 24 me to speculate, or do you want me to give you a
 25 definitive answer? A ruptured plaque occurs

Page 80

1 abruptly.
 2 Q I'm not talking about the plaque that comes off.
 3 I'm asking the degree of blockage noted on autopsy,
 4 Doctor?
 5 A Do you want me to draw you a picture of what
 6 happens, because you are asking a question I'm
 7 answering honestly, you are not understanding.
 8 Q Sure, draw me a picture. Put it on this piece of
 9 paper, Doctor, we can mark it. We can see it,
 10 ultimately we can't keep that.
 11 A I'm not a good artist. I say you can only
 12 speculate. This circle is an artery. When an
 13 artery builds up with atherosclerosis, it develops
 14 a cholesterol plaque, which is like a hard wax, it
 15 can be on a wall, like this, which is -- this is
 16 the plaque. That has narrowed it maybe 30,
 17 40 percent, 20 percent, I don't know what that area
 18 is, maybe 15, 20 percent. Nothing there.
 19 Then, a blood clot forms here. It pushes
 20 this plaque out across there. Then this whole area
 21 is blocked. This happens like a volcano,
 22 abruptly. A clot forms inside there, that blocks
 23 off the artery.
 24 You are telling me -- I don't know exactly
 25 what it would look like. I could speculate. I can

| Page 81 | Page 83 |
|--|--|
| <p>1 tell you what I think. I can't tell you for sure, 2 if you want a 100 percent answer, I can't. 3 Q The reason I ask the question is your partner, 4 Dr. Lee, testified had a catheterization been done 5 at the time she was seen, it would reflect the 6 blockage; you disagree with him? 7 A No, I said I could speculate that. I can't give 8 you 100 percent assurance, knowing the mechanism of 9 action. Since you want an answer that is all or 10 nothing, I can't give you that. 11 Q I want an answer to a reasonable degree of medical 12 certainty. Do you agree or disagree with Dr. Lee 13 in terms of what his statement was? 14 A I would have to read his statement you are quoting. 15 Q You said you read his deposition? 16 MR. STRONG: Isn't it 100 some 17 pages? 18 A I read it a month ago. Anyway, she could have had 19 a plaque this severe, it clotted off. I can't say 20 she didn't, I can't say she did. That's the 21 mechanism. Heart attacks do occur abruptly, that 22 is why you can have a normal stress test one day, 23 die from a massive heart attack the next day. 24 Q Have you read any of the expert reports, sir? 25 A I think I read some of them. I'm sure I didn't</p> | <p>1 myocardial infarction." 2 Do you agree or disagree with that, sir? 3 A I do not think that statement you read fulfills the 4 the evidence based medicine practice which we do. 5 That falls below the -- that falls to -- 6 they are using a different method. We use an 7 evidence based medicine, which is designed from I 8 think the National Institute of Health, American 9 College of Cardiology, American Heart Association. 10 We use evidence based medicine. 11 His statement is -- his statement of having 12 two negative stress tests, going eight and a half 13 minutes on a stress test, all other criteria we 14 use, it does not fall within evidence based 15 medicine at that time. 16 I would agree if a patient had recurrent 17 pain, beyond one hospitalization, then that is a 18 different story. 19 Q Well, tell me what you mean by evidence based 20 medicine, I'm not sure I understand the term? 21 A One of the most common admissions to the hospital 22 is chest pain. We have chest pain units, we take 23 care of tens of thousands, millions of patients a 24 year. Because of that there is a tremendous amount 25 of medical literature, because of that there are</p> |
| Page 82 | Page 84 |
| <p>1 read all of them. 2 Q Do you recall which ones you did read? 3 A No. 4 Q Is it your testimony that an emergency room doctor 5 cannot rely on a negative stress test to rule out 6 unstable angina? 7 A I think no physician should rely on any one test. 8 You take a lot of information, put it together, 9 including age, risk factors, all sorts of things. 10 Q Dr. Waller is an expert that was retained by 11 Dr. Hamrick. 12 A Okay. 13 Q In his report he said, I'll quote this, "The 14 presence of continued chest pain and suspicious 15 enzymes made it mandatory to perform a cardiac 16 catheterization prior to release." He was 17 referring to Dr. Lee. 18 A Um-hum. 19 Q Do you agree or disagree with that? 20 A I disagree with the statement she was having 21 continuous chest pain. 22 Q Dr. Waller said, I'll quote, "The failure to 23 perform the diagnostic catheterization by Dr. Lee 24 fell below the standard of care for a cardiologist, 25 and led directly to Connie Germanoff's fatal acute</p> | <p>1 published guidelines, they tell you based on 2 literature and medicine what is a reasonable 3 approach to handling these tremendous millions of 4 patients. Everybody in this room has had chest 5 pain at some time, I'm sure. There are guidelines 6 how to manage that. 7 Basically I don't use guidelines as a 8 cookbook. I use evidence based medicine. I think 9 the standards that I used and Dr. Lee used was 10 based on that criteria. 11 Q Where do I find the guideline that you are 12 referring to? 13 A Where do you find it? 14 Q What is the name of it, tell me what you are 15 talking about? 16 A American Heart Association. 17 Q That is an association, what is it that they 18 publish -- where would I go to find what you are 19 talking about that sets forth the guideline that 20 says what you and Dr. Lee did was okay? 21 A I don't know. I looked it up in a journal. 22 Q What journal? 23 A American Heart Association journal. 24 Q Which journal? 25 A Circulation.</p> |

Page 85

1 Q Which publication?

2 A I just know it from medical -- you are asking me

3 like I looked it up. I just know it. That is what

4 I deal with every day of the week, so I know

5 basically what I'm dealing with. You are asking

6 me, I can't tell you anything other than probably

7 in Circulation because that is where they publish

8 the guidelines.

9 Q Are those guidelines we can rely on by the American

10 Heart Association, is that what you are telling me?

11 A That is generally the way I practice, yeah.

12 Q Doctor, you said you looked at the other emergency

13 room visits?

14 A Yes, casually I looked through them. I didn't

15 study every --

16 Q Help me out here for a second, turn to the

17 December 20th visit. I'm looking at page 35, which

18 is the emergency department nursing assessment

19 notes, which was the complaint when she came in. I

20 think you've got **34** there.

21 Connie Germanoff came in clenching her

22 chest and breathing heavy, complaining of chest

23 pain, radiating left arm. Then later she had an

24 emesis, clutching of chest, patient continues to

25 complain of chest pain and one more emesis.

Page 86

1 You had seen her two days earlier, Doctor?

2 A Um-hum.

3 Q Should she have been referred to a cardiologist

4 with additional workup in your opinion?

5 A That would concern me a great deal, yes. Based on

6 that limited piece of information there, yes.

7 Q This is her telling --

8 A That is not her whole chart.

9 Q I understand.

10 A You are basing it on this piece of information

11 without knowing anything else, yes. I would, yes,

12 based on that limited information there.

13 Q The doctor, this Dr. Hatcher said that he reviewed

14 her old record, she had a normal cardiolute stress

15 test in the last month. He said that that is what

16 he relied on.

17 A Um-hum.

18 Q Based on our earlier discussion, I take it it was

19 inappropriate for him to rely on the stress test

20 you did two days earlier to rule out unstable

21 angina in this patient?

22 MS. PETRELLO: We still have a

23 continuing objection?

24 MR. KAMPINSKI: Sure.

25 Q Would that be accurate, sir?

Page 87

1 A Can you repeat the question.

2 (Question read.)

3 A Based on the information in the chart at this time,

4 knowing what the previous stress test said, I'm not

5 sure exactly what you are saying from before, I

6 would be very concerned and make sure she had

7 further workup.

8 Q Further workup being what, at this point, Doctor?

9 A She probably should be hospitalized, observed, get

10 enzymes, maybe another heart cath -- a heart cath

11 at that time.

12 Q You don't mean another heart cart, a heart cath?

13 A A heart cath, right.

14 Q She then comes back, Doctor, to the emergency room

15 on December 24th, by ambulance. Her complaint is

16 chest pain. Reason for treatment is chest pain.

17 Should she have been referred to a cardiologist on

18 that occasion?

9 A What were her complaints at that time?

10 Q Chest pain, chest pain, same chest pain she had

11 been having. Of course it does say patient states

12 cardiac ruled out on two prior trips.

13 A Says she is having epigastric -- is that epigastric

14 pain?

15 Q Where are you reading from, Doctor?

Page 88

1 A 29.

2 Q Let's start with rural Metro ambulance, which she

3 is the one that made the complaints of what was

4 bothering her, that is page 225. You can look at

5 this if you don't have it.

6 Reason for treatment, chest pain.

7 Complaints, chest pain. That is what she told

8 them. History, two episodes in the past week. So

9 I mean the lady is still coming back to the

0 hospital complaining of chest pain, should she have

1 been seen by a cardiologist?

2 A I think we should have been called to assess that

3 recurrence.

4 Q Again I take it at that point had you been

5 notified, I think Dr. Lee addressed this issue

6 also, she would probably have been catheterized?

7 A Probably at that time, yes.

8 Q Again, it would not have been appropriate for the

9 emergency room physicians at that time to have

10 relied on a negative stress test to rule out

11 unstable angina when she comes in with continuing

12 complaints of chest pain?

13 A That is right. In fact, if a patient had a heart

14 cath, was normal, came back with chest pain, I

15 would put them into the hospital.

Page 89

1 Q That dovetails into your explanation to me how
2 these heart attacks happen?
3 A Right.
4 Q I'm almost done.
5 A That's okay.
6 Q Did you at any time, Doctor, have any discussions
7 with either the emergency room physicians, or any
8 of the primary care physicians regarding Connie
9 Germanoff!
10 A I don't think I ever have. I don't remember if I
11 did.
12 MR. STRONG: You need to check?
13 (Recess taken.)
14 (Plaintiff's Exhibit 1
15 marked for identification.)
16 MR. KAMPINSKI: Without trying to
17 characterize it at all, Doctor, that diagram that
18 you drew reflecting the blockage of an artery, it's
19 now been marked as Plaintiff's Exhibit 1, correct?
20 THE WITNESS: Okay.
21 MR. KAMPINSKI: Attach that to the
22 transcript.
23 I really want to put something on the
24 record as to Miss Petrello's client. Basically we
25 are done. We sent your office a letter a couple

Page 90

1 days ago asking for answers to interrogatories that
2 we filed with the case initially, which we never
3 received answers. If you could look into that, see
4 that we get the answers, I would appreciate it. Do
5 we need it from anybody else?
6 MR. MELLINO: You never answered on
7 behalf of the group, only the one with Dr. Lee.
8 MR. STRONG: Is that right?
9 MR. KAMPINSKI: That was referring to
10 Mr. Strong. If we could get answers, we would
11 appreciate it. I think that's all we have. I
12 don't know if you guys have any questions of the
13 doctor. Don, any questions?
14 MR. SWITZER: Just a few questions.
15 You want to go ahead?
16 MR. KAMPINSKI: I quite frankly forgot
17 you were there, Don.
18 MR. SWITZER: Not the first time.
19 Doctor, can you hear me?
20 THE WITNESS: Yes, I can.
21 MR. SWITZER: Just a few questions
22 for you. I represent Dr. Hamrick.
23 THE WITNESS: Okay.
24 CROSS-EXAMINATION
25 By Mr. Switzer:

Page 91

1 Q When you reviewed the ER records for December 24,
2 1999, did you note that according to those ER
3 records the complaints to the physician and ER
4 nurses, Mrs. Germanoff was complaining of
5 epigastric pain?
6 A Yes, I think I said that.
7 Q That is different than chest pain, isn't it?
8 A Correct.
9 Q I apologize if you already answered this question,
10 Rick, just tell him it's already been answered, you
11 don't have to answer it again.
12 Did you rule out MI in that admission on
13 December 16th through December 18, '99?
14 A Yes, there was no evidence -- there was no evidence
15 of significant amount of heart damage.
16 Do you have to take that?
17 MR. STRONG: Possibly. Hang on.
18 THE WITNESS: I'm sorry. This is
19 ridiculous.
20 (Recess taken.)
21 MR. STRONG: Don, the Doctor is'
22 back.
23 By Mr. Switzer:
24 Q My question, I don't think I heard this, did you
25 say they no longer use the troponin levels at

Page 92

1 Aultman Hospital?
2 A I did not say that. They use them, it's a good
3 test if it's used properly.
4 Q You may recall that the troponin level on
5 December 24th was I believe .04?
6 A Correct. I don't know that.
7 Q I think I'm correct on that, I believe .08 for the
8 second test on the 17th.
9 Do you have any opinion as to whether the
10 troponin level -- at what period of time -- what
11 the level was when it peaked?
12 MR. KAMPINSKI: Don, wait a second.
13 Are you telling the doctor on the 24th there was a
14 troponin done?
15 Q I'm sorry, the 20th. Thank you. Let me withdraw
16 the question, start again.
17 On the 20th I believe the troponin is .04?
18 A Correct.
19 Q On the 17th, I think in the afternoon it was .08?
20 A Correct.
21 Q Do you have any opinion as to whether the troponin
22 level ever peaked to a level higher than .08, if so
23 what that number was?
24 A That would be speculation. I could tell you with
25 myocardial infarction the enzyme troponin goes up

Page 93

1 and stays up.
 2 Q How long does it stay up with an MI?
 3 A I can't tell you an exact answer. In terms of
 4 weeks.
 5 MR. SWITZER: Thank you verY much,
 6 Doctor.
 7 MS. PETRELLO: I have some questions.
 8 My name is Colleen Petrello, I represent
 9 Dr. Hatcher, who is the emergency room physician
 0 who saw Mrs. Germanoff on the 20th.
 1 MR. KAMPINSKI: You also represent the
 2 group as I understand. You also represent
 3 Dr. Hatcher, as I understand by virtue of
 4 representing the group, and therefore I object to
 5 both of you asking questions, but go ahead.
 6 MS. PETRELLO: Did you want to take
 7 your page?
 8 THE WITNESS: Go ahead.
 9 MR. STRONG: If she is quick. If
 10 she gets to a point you need to go, say so.
 11 THE WITNESS: I don't know if it is
 12 an emergency or it is from the emergency room. The
 13 answering service doesn't know the difference.
 14 There are doctors there, if it's an emergency they
 15 can usually handle the situation.

Page 94

1 MS. PETRELLO: I would like to think
 2 so, Doctor.
 3 CROSS-EXAMINATION
 4 By Ms. Petrello:
 5 Q Doctor, you did review the emergency room records,
 6 including the emergency room visits after the
 7 admission?
 8 A Yes, just in a very casual fashion. I would not
 9 want to comment without looking at a very specific
 10 piece of information.
 11 Q Doctor, you did comment and testify that you felt
 12 that perhaps the emergency room physician should
 13 have called a cardiologist; is that correct?
 14 A Yes, based on the information that was shown to
 15 me. I don't have a long -- I haven't studied these
 long notes typed here.
 17 Q Were you aware that Dr. Hatcher also did some blood
 18 work, did you review the lab studies?
 19 A No.
 20 Q Were you aware that the CPK was 62 and they
 21 couldn't even do a CK?
 22 MR. KAMPINSKI: Which are you referring
 23 to?
 24 MS. PETRELLO: 20th.
 25 MR. KAMPINSKI: Which page?

Page 95

1 MS. PETRELLO: 40.
 2 A I saw that when I saw the troponin.
 3 Q Couldn't do a CK because it was too low. They did
 4 the troponin and myoglobin, those two levels were
 5 lower than what it was in the hospital, correct?
 6 A The troponin is. I think the myoglobin is. I
 7 don't remember. The CPK by itself without -- is
 8 too small to fractionate.
 9 Q We will take them one by one.
 0 MR. STRONG: Why don't you ask him
 1 something so we don't have to go back through all
 2 these things that have been asked.
 3 MS. PETRELLO: I don't think it's been
 4 asked.
 5 Q I want you to acknowledge the myoglobin, let's
 16 start with that 125.8, don't you agree that is
 7 lower than what it was in the hospital?
 8 A Yes.
 9 Q How about the troponin, don't you agree it's lower
 10 than what it was in the hospital?
 11 A Yeah.
 12 Q Did you note that there was an EKG done by
 13 Dr. Hatcher, it was normal?
 14 A I will be glad to look at it.
 15 Q Page 38, interpreted as normal compared to the

Page 96

1 previous one on 12-16?
 2 A Yes, okay.
 3 Q So, Doctor, at least based on some of the things
 4 I've shown you, Dr. Hatcher didn't simply rely on a
 5 negative stress test in evaluating Mrs. Germanoff
 6 on the 20th; do you agree with that?
 7 A Yes.
 8 Q Are you aware that it was Dr. Hatcher's impression
 9 that it could have possibly -- her complaints could
 10 have been due to a GI problem, and in fact he gave
 11 her a GI cocktail, and she got relief from her
 12 pain; are you aware of that?
 13 A I'm aware of it because you told me. What I see
 14 here, correct.
 15 Q You're not an emergency room physician, correct?
 16 A Correct.
 17 Q You don't practice in the emergency room?
 18 A Correct.
 19 Q You would agree with me you're not familiar with
 20 the standard of care for emergency room physicians?
 21 A Correct.
 22 MS. PETRELLO: I don't have anything
 23 else.
 24 MR. STRONG: Anybody else?
 25 MR. KREMER: My name is Stephan

Page 97

1 Kremer, I represent Dr. Hollaway and her group.
2 CROSS-EXAMINATION
3 By Mr. Kremer:
4 Q I think I heard you correctly before you did not
5 speak with Dr. Hollaway, or Dr. Hummel, or
6 Dr. Linz, or Dr. Schall, or anyone from that
7 practice on December 18th when you saw the patient;
8 is that correct?
9 A I have no recollection of that. That is the best I
10 can say.
11 Q Nowhere in the chart did you write a progress note
12 or an order that this patient was to follow-up with
13 you or your group; is that correct?
14 A I didn't write that, correct.
15 MR. KREMER: I don't have anything
16 further, thank you.
17 MR. HOWES: Nothing.
18 MR. KAMPINSKI: Doctor, I won't be
19 long, but just to follow-up on some of these
20 questions just asked now.
1 MR. STRONG: Before you continue, I
2 apologize for interrupting, the Doctor had a page.
3 MR. KAMPINSKI: Let him take it then.
4 MR. STRONG: The question is whether
5 he thinks he needs to or not.

Page 98

1 (Recess taken.)
2 MR. KAMPMSKI: Doctor, I take it we're
3 okay for a few minutes?
4 THE WITNESS: I hope so.
5 MR. KAMPINSKI: Based on the page you
6 just took?
7 THE WITNESS: Yes, it was not an
8 emergency.
9 RECROSS-EXAMINATION
0 By Mr. Kampinski:
1 Q The emergency room physicians retained this
2 Dr. Waller, okay. He's the expert who I quoted
3 from his report earlier that indicated that he
4 believed that Dr. Lee deviated from the appropriate
5 standard of care required of you.
6 A Correct.
7 Q Now you've been asked questions by these emergency
8 room physician's attorneys, suggesting that their
9 clients, the emergency room doctors, could rely on
0 relief of symptomatology which they believed was
1 epigastric, despite the woman complaining of chest
2 pain and clutching her chest, saying the pain is
3 the same as it has been, breathing heavy, radiating
4 down her left arm, they asked you a question if
5 it's appropriate and within the standard of care

Page 99

1 for an emergency room doctor to rely upon relief of
2 symptomatology with a GI cocktail as it not being
3 cardiac.
4 My question is the following: You referred
5 me to heart standards, isn't it a fact that the
6 American Heart Association standards say you cannot
7 rely on relief of symptomatology by virtue of
8 giving somebody a GI cocktail?
9 MS. PETRELLO: Objection.
10 A If you are asking me what the American College --
11 Q Heart Association?
12 A -- says, I don't know that. I would have to see
13 those words to say you are saying it accurately.
14 Q Let me ask you this: Can an emergency room
15 physician rely on relief of symptoms by virtue of
16 giving a GI cocktail to rule out pain that is
17 cardiac in origin?
18 MS. PETRELLO: Objection.
19 A No.
20 Q That question was a fair question to ask you, in
1 terms of suggesting that that somehow exculpates an
2 emergency room physician from determining what the
3 cause of the sternal chest pain is?
4 MS. PETRELLO: Objection.
5 Q Would that be a fair statement, sir?

Page 100

1 A It's global information. You don't just -- it's
2 everything put together. It's not just any one
3 thing.
4 Q These emergency room doctors who are criticizing
5 the cardiologists, yourself and Dr. Lee, and I
6 apologize if this is repetitive, I want to make
7 very sure there is no confusion in this, they did
8 not have a right to rely on the negative stress
9 test you did in order to rule out unstable angina
0 when this woman came back on two additional
1 occasions after you saw her, continuing to complain
2 of chest pain; would that be correct?
3 A The way I would put it is that when a patient keeps
4 on coming back to the hospital with recurrent pain,
5 the onus is on the doctor to find out what is going
6 on.
7 Q Would not the standard of care of any physician,
8 whether he be emergency room, whether he be a
9 family practitioner, whether he be an internist,
10 require him under these circumstances that are set
11 forth on both December 20th and December 24th,
12 require that doctor to get a cardiology consult to
13 allow you to do, if it were you, to do your job?
14 MR. STRONG: Objection. He already
15 answered part of that auestion as to what standard

Page 101

1 of care he can or cannot testify to.

2 MR. KAMPINSKI: The reason I ask that
3 is he was asked, or he did respond to Dr. Waller's
4 comment about standard of care. So apparently he
5 has some conversance with standard of care.

6 MR. STRONG: Waller is a cardiac
7 pathologist.

8 MR. KAMPINSKI: I don't know what he
9 is. He's the guy they hired to criticize your
10 group.

11 Q My question to you, I thought I had it there, is
12 would it not be the appropriate thing for a doctor
13 to do, if you don't want to use the words standard
14 of care, any physician to refer such a patient as
15 Connie Germanoff when she returns on December 20th
16 and December 24th, continued to complain of chest
17 pain, refer her to a cardiologist?

18 A Yes.

19 MS. PETRELLO: Note a continuing
20 objection.

21 A As it's presented, yes, I think so.

22 Q I take it you have no disagreement with your
23 colleague, Dr. Lee, in terms of his testimony to
24 the effect that if in fact Connie had been referred
25 on either December 20th or December 24th, the

Page 102

1 appropriate intervention would have occurred and in
2 light of the findings on autopsy, that she probably
3 would have been successfully treated and be alive
4 and well today?

5 A Probability, yes. I mean if -- probably if she had
6 angiography she may well have been properly
7 treated. I have seen patients treated for this
8 problem who still rupture their heart.

9 Q We have to talk in terms of probability since
10 nothing to that effect was done?

11 A Yes, I would say that is certainly -- that is
12 reasonable.

13 MR. KAMPINSKI: That's all I have.
14 Anything else?

15 MR. SWITZER: Not from this end.

16 MR. STRONG: You have a right to
17 read it. I'm asking her to print it up, send it to
18 me, you'll review it for clerical accuracy.

19 (Deposition concluded at 6:33 p.m.)

20 (Signature not waived.)

21 - - -

Page 104

1 State of Ohio,

} SS: CERTIFICATE

2 County of Cuyahoga,)

3 I, Constance Versagi, Court Reporter and Notary
4 Public in and for the State of Ohio, duly commissioned and
5 qualified, do hereby certify that the within named
6 witness, Alan Kamen, M.D., was by me first duly sworn to
7 testify the truth, the whole truth, and nothing but the
8 truth in the cause aforesaid; that the testimony then
9 given by him was by me reduced to stenotypy/computer in
10 the presence of said witness, afterward transcribed, and
11 that the foregoing is a true and correct transcript of the
12 testimony so given by him as aforesaid.

13 I do further certify that this deposition was
14 taken at the time and place in the foregoing caption
15 specified, and was completed without adjournment.

16 I do further certify that I am not a relative,
17 counsel, or attorney of either party, or otherwise
18 interested in the event of this action.

19 IN WITNESS WHEREOF, I have hereunto set my hand
20 and affixed my seal of office at Cleveland, Ohio, on
21 this 22nd day of May, 2001.

22
23
24 Constance Versagi, Court Reporter and
Notary Public in and for the State of Ohio.

25 My Commission expires January 4, 2003.