

This deposition was delivered to C.A.T.A. with the following pages missing

Pages 65 to 65

Pages _____ to _____

Pages _____ to _____

STATE; OF OHIO,)
) SS :
COUNTY OF CUYAHOGA.)

Doc. 224

IN THE COURT OF COMMON PLEAS

LILLIAN GILLIAN,)
)
Plaintiff,)
)
- vs -) Case No. 122942
) Judge James D. Sweeney
PROGRESSIVE BAPTIST)
DISTRICT ASSOCIATION,)
)
Defendants.)

- - - - -
THE DEPOSITION OF RICHARD S. KAUFMAN, M.D.
TAKEN WEDNESDAY, MARCH 16, 1988
- - - - -

The deposition of Richard S. Kaufman,
M.D., called by the Defendant for examination pursuant
to the Ohio Rules of Civil Procedure, taken before me,
the undersigned, Judith Ann Trebus, a Registered
Professional Reporter and Notary Public within and for
the State of Ohio, taken at the offices of Beachwood
Orthopedic Associates, 23250 Mercantile Road,
Beachwood, Ohio, commencing at 9:35 a.m., the day and
date above set forth,

WANOUS REPORTING SERVICE
75 PUBLIC SQUARE, SUITE 1226
CLEVELAND, OHIO 44113
(216) 861-9270

APPEARANCES:

On behalf of the Plaintiff:

David I. Pomerantz, Esq.
Pomerantz and Cichocki Co., LPA
Suite 910 - The Statler Office Tower
1127 Euclid Avenue
Cleveland, Ohio 44115

On behalf of the Defendant:

Thomas O'Donnell, Esq.
McNeal, Schick, Archibald & Biro
The Illuminating Building
Suite 1021
Cleveland, Ohio 44113

- - - - -

(Defendant's Exhibits A through H,
inclusive, were marked for identification)

RICHARD S. KAUFMAN, M.D.

of lawful age, called by the Defendant for examination pursuant to the Ohio Rules of Civil Procedure, having been first duly sworn, as hereinafter certified, was examined and testified as follows:

EXAMINATION OF RICHARD S. KAUFMAN, M.D.

BY MR. O'DONNELL:

Q Doctor, my name is Tam O'Donnell, and I'm an attorney representing Progressive Baptist District Association in the case of Lillian Gilliam versus Progressive Baptist District Association.

Would you please tell the jury your full name?

A Richard S. Kaufman.

MR. POMERANTZ: For the record, I just want to note my objection to the use of the videotape at trial in this matter.

MR. O'DONNELL; May I ask your -- the reason for your objections?

MR. POMERANTZ; No compliance with the civil rulings and rules of

1 superintendence with the use of videotaped
2 depositions.

3 MR. O'DONNELL: Were you
4 noticed that there was to be a videotaped
5 deposition today?

6 MR. POMERANTZ: Yes. I am
7 waiving any objections to notice.

8 MR. O'DONNELL: What is
9 specifically the non-compliance that you're
10 complaining of?

11 MR. POMERANTZ: I'm just going
12 to leave the objection at that and let the Judge
13 rule on it.

14 MR. O'DONNELL: Do you realize
15 you are to bring written objections to this
16 deposition?

17 MR. POMERANTZ: Yes.

18 MR. O'DONNELL: Did you bring
19 any written objections today?

20 MR. POMERANTZ: Tom, I don't
21 want to have a legal argument,

22 MR. O'DONNELL: That's fine,
23 You didn't bring any written objections.

24 MR. POMERANTZ: I made my
25 objection. You can continue,

1 BY MR. O'DONNELL:

2 Q Doctor, would you explain to the jury -- I'm
3 sorry.

4 Are you a licensed physician?

5 A Yes, I'm a physician and surgeon and M.D.
6 licensed to practice in the State of Ohio since
7 1956.

8 Q Would you explain to the jury your education and
9 background?

10 A I received my BA degree from Yale University
11 summa cum laude in 1952. I received my M.D.
12 degree from Columbia University in New York City
13 in 1956. I then had five years of post-graduate
14 training, a year of internship at Mt. Sinai
15 Hospital in Cleveland, a year of residency at
16 University Hospitals in Cleveland, two years of
17 orthopedic surgery at Mt. Sinai Hospital, and a
18 year of orthopedic surgery at Indiana University
19 Medical Center in Indianapolis.

20 Q Okay. What areas was your internship and
21 residency concerned with?

22 A Well, the internship was a rotating internship
23 which was a -- covered all fields. My first
24 year of residency was a general surgery
25 residency, and my last three years of training

1 were exclusively orthopedic surgery.

2 Q All right. Now, are you a practicing physician
3 today?

4 A Yes, I'm in the private Practice of medicine
5 since 1961, which is now 27 years,

6 Q Okay, What specialty are you limiting your
7 practice to?

8 A Orthopedic surgery since I went into practice.

9 Q All right, And is there such a thing as being
10 "board certified"?

11 A Yes.

12 Q And would you explain that to the jury?

13 A Well, when I was board certified, you had to --
14 I had to have, of course, the four years of
15 medical school and the five years of
16 post-graduate training. I then took a series of
17 written and oral examinations which I passed the
18 first time.

19 I then had to be in practice a minimum of
20 two and a half years and took a second set of
21 written and oral examinations which I also
22 passed the first time and was certified by the
23 American Board of Orthopedic Surgery as a
24 specialist and fully-trained orthopedist in
25 1963.

1 Q Okay, What states are you licensed to practice
2 medicine in?

3 A Besides Ohio, I'm licensed to Practice in
4 Indiana and in California.

5 Q what specific hospitals are you affiliated with?

6 A I'm on the active staff at Suburban Community
7 Hospital where I'm the chief of orthopedic
8 surgery; Mt. Sinai Hospital, Hillcrest Hospital.

9 I was the chief of orthopedic surgery at
10 Women's General Hospital for about: 23 years
11 until it recently closed, and I'm the orthopedic
12 consultant to the Arthritis Clinic at the
13 Cleveland Metropolitan General Hospital.

14 Q Outside of your practice, do you also teach?

15 A Yes, I am a clinical instructor at the Case
16 Western Reserve University Medical School in
17 orthopedic surgery, and I was a professor at the
18 Ohio College of Podiatry for about 20 years.

19 Q Have you published any papers?

20 n Yes, I've published several papers dealing
21 primarily with the healing of broken bones, with
22 fractures, and I have given innumerable papers.

23 Mast-recently, I was invited to give a
24 paper at the Orthopedic Surgery Grand Rounds at
25 Harvard University Medical School in Boston; I

1 gave the Harold Cummins Lectureship at Tulane
2 University in New Orleans; I was invited to
3 participate in a panel on fracture healing at
4 the Mid-America Orthopedic Meeting at Colorado
5 Springs, Colorado; and just a few months ago I
6 gave the Dr. Russell Rizzo Memorial Lectureship
7 at St. John's Hospital here in Cleveland.

8 Q Are you affiliated with any professional
9 associations?

10 A I'm a member of the Cleveland Academy of
11 Medicine, the Ohio State Medical Association,
12 and the American Medical Association, the
13 Cleveland Orthopedic Club, the Ohio State
14 Orthopedic Society, the Great Lakes Orthopedic
15 Club, the Mid-America Orthopedic Society, the
16 Clinical Orthopedic Society, the Bioelectric
17 Repair and Growth Society, the American College
18 of Thermography.

19 I'm a fellow of the American College of
20 Surgeons and a fellow of the American Academy of
21 Orthopedic Surgeons and I'm a diplomate of the
22 American Board of Orthopedic Surgery.

23 Q Now, as far as your board certification is
24 concerned, do you have any other qualifications
25 such as a diplomate of it?

1 A Well, that is -- "diplomate" means that I have
2 been accepted by the board as a fully-trained
3 specialist in orthopedic surgery.

4 Q All right. Will you explain to the jury what
5 orthopedic surgery consists of?

6 A Orthopedic surgery is the branch of medicine
7 that deals with the diagnosis and treatment of
8 diseases and injuries to what we call the
9 locomotor system, that is, the parts of the body
10 that move you about, the bones and joints and
11 muscles and tendons and ligaments of the back
12 and the arms and legs.

13 Q And what is the specialty of podiatry?

14 A Podiatry is a -- not really a medical specialty.
15 It's a -- it's a separate field by itself. It's
16 not part of regular medicine and deals only with
17 the foot.

18 Q Okay: Can a podiatrist treat other areas beyond
19 just the foot, and if so, what areas?

20 A Umm, well, I don't know of any hospitals in
21 Cleveland where they can treat anything beyond
22 the foot. They -- they claim expertise in the
23 ankle, but not above the ankle, But the -- I
24 don't know of any hospitals where they're
25 allowed to do surgery of the ankle,

1 Q Are podiatrists licensed the same as an M.D.,
2 for example?

3 A Well, they are licensed by the state but not the
4 same. I mean when I was licensed, I had to take
5 a medical examination for my licensure. They
6 certainly didn't take that, They take same sort
7 of exam for podiatry, but it's not the same
8 exam.

9 Q Have you had accanion to treat persona with
10 ankle injuries?

11 A Oh, my, yes. As an orthopedist, I treat a lot
12 of ankle and foot injuries,

13 Q Does that include fractures and sprains of
14 ankles?

15 A Absolutely.

16 Q Have you had occasions to treat persons with
17 foot injuries?

18 A Many ,

19 Q Such AB injuries sustained by and alleqed by
20 Lillian Gfilliam in this case?

21 A Yes.

22 Q Are there different types of fractures of bone,
23 and can you explain these to the jury?

24 A Well, you can -- yes. There are many different
25 kinds of fractures. Sometimes they, fractures,

are divided into a complete fracture through the bone as opposed to a chip fracture. A chip fracture is just a small piece of bone which is pulled off with a ligament when the joint is sprained. Instead of tearing the ligament, you tear the bone where the ligament is attached, and a piece of bone comes off with the ligament.

Then, of course, there's open fractures and closed fractures and comminuted fractures, but basically I think that what your question was interested in mostly is what is a chip fracture, which is a small sliver of bone that's pulled off when a joint is sprained and instead of tearing the ligament, it just pulls off a little piece of bone.

Q Will this type of fracture show up on an x-ray?

A Yes.

Q Now, are you familiar with bunions?

A Oh, yes.

Q Can you explain to the jury what a bunion is and where it's located?

A A bunion is a deformity of the first -- what we call metatarsal phalangeal joint, which is the big toe joint at the foot. At the base of the large toe, the big toe goes out toward the outer

1 side of the foot. It goes into what we call
2 valgus. Instead of being this way, it goes
3 across the toe and the base of the bone sticks
4 out and the deformity of the toe going across
5 and the bump is called a bunion.

6 Q All right. Now, in this case did you have
7 occasion to examine Lillian Gilliam?

8 A Yes, sir.

9 Q All right. And when was your examination?

10 A I examined Ms. Gilliam on March 3rd, 1988.

11 Q All right. How did she come to be referred to
12 you for examination?

13 A I believe you sent her here. Yes, sir.

14 Q Okay. Do you charge for your services for
15 examining Mrs. Gilliam?

16 A For my time, of course.

17 Q Okay. And for your time for being here today?

18 A Yes.

19 Q And did you charge me for your time?

20 A Well, yes.

21 Q Okay. Just so the jury understands --

22 A Certainly.

23 Q -- that you're testifying on behalf of the
24 defendant in this case.

25 A Well, I'm testifying on the request of the

1 defendant, I'm not testifying on behalf of
2 anybody.

3 Q All right.

4 Now, during the course of your examination
5 of Mrs. Gilliam, did you receive any records
6 from our office?

7 A I believe I did. I have -- I believe the only
8 record which I have here is a report from
9 Dr. Arnold on Miss Gilliam dated October 10th,
10 '86.

11 Q Do you recall receiving any records from our
12 office from Complete Foot Care, the actual
13 records?

14 A Uh --- no.

15 Q Did you receive the records from Huron Road
16 Hospital?

17 A NO.

18 Q Is it necessary to review records such as that
19 in order to examine Mrs. Gilliam?

20 MR. POMERANTZ: Objection.

21 A Well, at times it is. In this particular case,
22 it was not,

23 Q Okay. Now, during the course of your
24 examination of Mrs. Gilliam -- well, what does
25 your examination consist of?

1 A Well, I first took a history of the patient and
2 then took -- did a physical examination and took
3 x-rays.

4 Q All right. Would you explain to the jury what
5 the history is?

6 A A history is a series of questions which are
7 asked sort of -- they're not leading questions.
8 They're what we call open-ended questions in
9 which I try to find out from the patient what's
10 wrong with her, how it happened, how it was
11 treated, what's still going on at the time that
12 I examined her.

13 Q Okay,

14 A It all depends on what she tells me. I'm just
15 sitting there asking her questions and she --
16 it's up to her to give me the answers.

17 Q All right. Now, did you obtain a history from
18 Mrs. Gilliam?

19 A Yes, I did.

20 Q And what was the history related to you?

21 A The patient stated that she was injured on
22 October 5th, 1985, when, as she stated -- this
23 is a quote -- "I accidentally stepped into a
24 small hole and twisted my right ankle", unquote,
25 She said that she was wearing what she

1 called nurse's oxford shoes, which are those
2 so-called "sensible shoes", lace-up, sensible
3 shoes at the time. She was asked what kind of
4 shoes she wore, and she said nurses oxford
5 shoes.

6 She said that all of the pain and the
7 swelling was around the ankle at the time of her
8 injury. She said there was no swelling in the
9 foot or the metacarpal phalangeal joint, that
10 is, the joints at the base of the tone. She was
11 asked that specifically, and her answer was that
12 there was no swelling or pain in those joints at
13 the time of the accident.

14 She stated that she had been told that she
15 had, quote, "a fracture of the little bone shown
16 on x-ray", unquote, in the first metacarpal
17 phalangeal joint, but this was later on, not at
18 the time,

19 Q All right.

20 A Prior -- or than she had seen -- since the
21 accident, she had been under the care of Dr. --
22 she said Dr. Marvin Arnold, whom she saw two
23 months after the accident.

24 She said the treatment consisted of
25 whirlpool, heat treatments, and injections into

1 the first metacarpal -- metatarsal phalangeal
2 joint. Again, that's the base of the big toe.

3 She said that she'd had -- subsequently
4 had surgery of the large toe and removal of a
5 piece of bone, this little piece of bone she
6 said she had,

7 She said that prior to the operation --
8 prior to seeing Dr. Arnold, she said she only
9 had ankle pain, she never had toe pain, she
10 said.

11 At the present time, the patient stated
12 that her right ankle pain had improved, she
13 stated she still had some pain located on the
14 inner side of the ankle, the pain was said to be
15 intermittent, that is, it came and went, and was
16 mild in degree. She said it was made worse by
17 cold and damp weather and it was relieved after
18 a while just going away by itself.

19 And she said it came on at intervals of
20 about once every one to four weeks and lasted
21 one to two hours. That is about -- sometime
22 between one and four weeks she only had one to
23 two hours of pain.

24 There was no spread of the pain from the
25 ankle, it stayed tight there. She said that the

1 symptoms in her right first metatarsal - --
2 metatarsal phalanqeal joint, that io, the big
3 toe joint, had persisted and consisted of what
4 she called stiffness but no pain,

5 The patient stated that she worked in a
6 baby day care center part-time, and she had
7 returned to regular work one month after her --
8 one month after accident -- one month after the
9 surgery -- I'm sorry -- and worked for two
10 months, but that she had to leave due to ankle
11 swelling if she was on her feat too long.

12 She said there had been no previous or
13 subsequent injuries or symptoms in the above
14 areas, that is, her foot and ankle. She said
15 that she had sustained a bruise of the heel six
16 years previously, with symptoms for one to two
17 weeks, she said she had been in good health
18 with no serious illnesses or operations,

19 Q Doctor, as a result of obtaining the history,
20 did you conduct an examination?

21 A Yes, I did, The examination was limited only,
22 of course, to the right ankle and right foot
23 because that was the only part that she
24 complained about, The rfght ankle joint
25 revealed no swelling, There was no fluid in the

1 joint, there was no inatability of the joint.

2 Range of motion in the joint was normal in
3 all directions and was pain-free, that was to
4 say that the patient could bring her foot up
5 normally, she could bring it down normally, she
6 could twist it side to side normally, and all of
7 these motions were totally pain-free.

8 There was some stiffness in the first
9 metatarsal phalangeal joint in the large toe,
10 particularly bringing the toe down from the
11 horizontal position, bending it towards the sole
12 of the foot, but the motion was painless and
33 there was no tenderness about the joint.

14 Q As a result of your examination, was it
15 necessary to conduct any tests?

16 A Yes, I took -- had some x-rays taken.

17 Q Do you have those x-rays with you today?

18 A Yes, I do.

19 Q All right. Would you pull out the x-rays that
20 ate numbered Defendant's Exhibits A through G
21 and identify the x-rays and show them to the
22 jury, please?

23 A Certainly.

24 Q The first x-ray, Defendant's Exhibit A.

25 A The first x-ray? A, is an x-ray taken by the

3
1 Cleveland Foot Surgeons on August 27th, 1982,
2 and it shows the x-ray of the -- of the ankle.

3 This is the ankle here (indicating) and
4 that's a perfectly -- this is the tibia, the
5 shin bone. This is the fibula, the little bone
6 on the side, This is the foot down here,

7 That's a perfectly normal ankle, and this
8 is a side view of the foot and shows an
9 essentially normal ankle here. There's a heel
10 spur back here, but that has nothing to do with
11 this case and apparently had no symptoms.

12 Q All right, Doctor, if I might stop you now,
13 This was in 1982 that this x-ray was obtained?

14 A Yes. That's what it says so -- these are the
15 ones that were sent to me,

16 Q And this x-ray was sent to you by my office?

17 A Yes, sir,

18 Q Is a bunion shown there or is there any chip --

19 A You can't see the bunion on that one, no,

20 Q -- shown on the toe?

21 A No, there's no chip shown.

22 Q Now, Defendant's Exhibit B, can you identify
23 that?

24 n This is an x-ray taken March 19th, 1987. It's a
25 very poor quality x-ray.

1 Q Who obtained that x-ray?
2 A Oh, this is from Cleveland Foot Surgeons, again,
3 on 16 March '87. And it's an x-ray of the side
4 of the foot. Here's the ankle, but it's a very
5 poor x-ray, and you can't really see very much
6 on this x-ray.

7 This is an x-ray --

8 Q Is this x-ray marked here?

9 A I'm sorry. Yeah, this one is August 27th, '82
10 again.

11 Q What is the exhibit number?

12 A This is C, and this shows x-ray of the foot.
13 This is '82 and this is a normal-appearing foot.
14 There's a very slight bump here, but that's
15 normal ,

16 Q All right, Now, that --

17 A This is '82 again.

18 Q If I may stop you at that point, the hump on the
19 first MP joint --

20 A This is the first MP joint. Needs the large
21 toe, this is the metatarsal, and these are the
22 lesser toes, Here's the ankle back here,

23 Q Okay, Now, the bump -- is there any chip shown
24 on the bump there --

25 A No.

1 Q -- on that x-ray?

2 A No, there's not,

3 Q Okay, what is the next --

4 A This is D. Now, this is an x-ray taken December
5 9th '85, and it shows a little thing circled
6 here which is an -- it's a very small chip on
7 that joint there, and there's an arrow drawn to
8 one here which shows a little chip fracture on
9 the top of the first metatarsal phalangeal joint
10 of the foot,

11 Q All right. Now, if we can focus on this exhibit
12 for a moment, Doctor --

13 A This is D.

14 Q This is Defendant's Exhibit D, and who obtained
15 this x-ray?

16 A It doesn't say.

17 Q All right,

18 A But it shows the same patient number, 4614, as
19 the previous films from the Foot Clinic,

20 Q Now, this was an x-ray provided to you but not
21 one taken by you?

22 A That's correct,

23 Q Now, can you focus on the, top part of that x-ray
24 where the circle is shown,

25 A Let's turn the film so you can see it better.

1 Q Now, did you draw that circle on the x-ray
2 there?

3 A No, the x-ray came with that circle drawn on it.

4 Q Okay. This is a copy of the original, is that
5 true?

6 A Yes, these are copies.

7 Q All right. Now, what is depicted in that
8 circular area on the x-ray?

9 A There is a very minute little chip of bone.

10 Q All right, Is there anything wrong with the MP
11 joint other than that chip?

12 A No. Actually -- actually, you can see -- again
13 here's the -- here's the joint, and it's -- the
14 toe is angled slightly this way, but that's
15 essentially normal. And if you compare it to
16 x-rays taken in 1982 --

17 Q All right, And which exhibit: is that?

18 A This is C -- the toe is essentially the same.
19 The amount of bunion is really essentially
20 unchanged.

21 Q Okay. Would you point to the area of the
22 bunion?

23 A Well, this is where a bunion would be, but
24 it's -- it really is hardly there. I don't
25 really think that's a significant amount of

1 bunion, actually.

2 Q All right, Now, bunion --

3 A You would expect. to see the large toe angled in
4 this direction and a big bump out hers,

5 Q Okay,

6 A But she really doesn't: have it,

7 Q Rut on the x-ray shown in Exhibit D, it's
8 beginning to form.

9 A Well, I think it's really about the same as it
10 was three years previously,

11 Q Okay, Now, in x-ray D on the left side of that
12 x-ray, would you focus on the point where the
13 arrow is and tell the jury what that depicts?

14 A That shows a very small chip fracture, that is,
15 a very small sliver of bone that is rounded off.
16 There are no sharp edges. This indicates that
17 it's at least five or six months old, that it's
18 been there, and that the healing process has
19 rounded it off so it no longer has any -- any
20 sharp surfaces.

21 Q If I can focus on your comment there, how can
22 you -- can you explain to the jury how you
23 determine the age of a chip fracture again?

24 A Well, you can't determine exactly how old it is.
25 You can determine that it's older than fresh and

1 that is, when you first pull a piece of bone
2 off, it's got sharp edges, just as when you chin
3 a piece of glass, the edge of the chip is sharp
4 and then if you let it -- put it in an stream of
5 water, let it bang around for awhile, the glass
6 wears down and rounds off and that's exactly
7 what happens with the little piece of chip here.

8 It's lying in the body. The body rounds
9 off the -- absorbs the sharp edges and rounds it
10 off and after about five or six months, it
11 begins to look like that. That could be two or
12 three years old, but it's at least five or six
13 months to be nice and smooth like that.

14 Q All right. Now, is that chip lying in the
15 joint?

16 A No, it's lying on top of the joint. The joint
17 is really here, and this is really lying in the
18 soft tissues above the joint.

19 Q Okay. Now, did you have any other x-rays?

20 A Then I have the x-rays that were taken here.

21 This is Exhibit E, which is again an x-ray
22 of the ankle, the right ankle, taken in my
23 office on March 3rd of '88. And this is a
24 perfectly normal ankle. This is the shin bone,
25 this is the fibula, the small bone, There's the,

1 bone from the foot that goes in the ankle joint.
2 This is the heel. The foot is going off this
3 way (indicating) and that's perfectly normal.

4 Q All right.

5 A Except for a little -- I say she's got a heel
6 spur, but that's not part of this at all. She
7 had no trouble from that.

8 Q Did you obtain -- and what other x-rays did you
9 obtain?

10 A This is F, which is an x-ray of the right ankle
11 from the front, Again, the shin bone and the
12 fibula, the little bone on the outer side, the
13 ankle. This is an oblique view, turning the
14 foot slightly so you get an angle view, and
15 these are all normal x-rays.

16 Ankle x-rays are perfectly normal.

17 Q All right Exhibit F, again, was obtained in
18 your office.

19 A These were taken also March 3rd, 1988 in my
20 office.

21 Exhibit G is also an x-ray taken in my
22 office March 3rd. This is the foot itself,
23 taken from the side. Again, the leg and the
24 ankle, the foot, and now right here where you
25 had a -- let me draw it where you can see it.

1 We'll draw an arrow here, draw a circle around
2 it.

3 the chip fracture which she had before.
4 there's still a piece of bone there, which she
5 had had before.

6 Q Is the bone still there in this x-ray?

7 A Yes.

8 Q Okay,

9 A Still there.

10 Q In other words, so I can clarify that, the chip
11 part that was supposed to be removed is still
12 present?

13 A Yes.

14 MR. POMERANTZ: Objection;
15 leading.

16 A Yes, the chip of bone that was present on the
17 previous x-rays is still there.

18 Q Okay, Let me rephrase the question in light of
19 the objection.

20 Explain to the jury again what is depicted
21 in that circular area.

22 A The point that I've circled with the wax pencil
23 indicates the small chip of bone that was
24 present prior, on the prior x-ray, and is still
25 present today.

1 Q Did you obtain any other x-rays?

2 A And this is another x-ray of the foot taken
3 August -- or taken March 3rd, 1988 in my office.
4 This is the view from the top of the foot
5 looking down. Now we see that the base of the
6 bone has been removed and the side of this bone
7 has been removed. I think it can be seen best
8 by comparing it to the previous x-ray --

9 Q Before we compare it, Doctor, which x-ray
10 exhibit is this?

11 A This is H.

12 Q Okay. And this x-ray was taken in your office?

13 A In my office on -- at the time of the
14 examination, March 3rd.

15 ? Now, which exhibit x-ray will you compare it to
16 to?

17 A Compare it to the one taken December 9th, 1985.

18 Q And who took that x-ray?

19 A The Foot Clinic.

20 Q All right. And which exhibit is it?

21 A This is D.

22 Q Okay, Now, explain to the jury the comparison
23 of those two x-rays,

24 A You can see that the base of this bone has been
25 removed, You see this bone is shorter and

1 doesn't have that big base, and the side of this
2 bone has been removed like that,

3 Q Okay.

4 A That's a -- what we call a Keller bunionectomy,
5 was described by Dr. Kellar many years ago, and
6 this is the standard operation for a bunion.

7 Q Now, if you know, is the chips that was
8 previously removed, is that still present in the
9 x-ray H OR the right?

10 A Well, you can't really see it because the chip
11 is on the top of the joint. So you're looking
12 through it, and you actually have to have a view
13 from the side in order to see it, and you can't
14 see it on this x-ray.

15 Q All right, But it was shown by the previous
16 x-ray?

17 A It was shown by the one I took in the office
18 hers,

19 Q Now, do you have any other x-rays?

20 A No.

21 Q Now, the x-rays that you've just shown us those
22 taken in your office, which I believe are
23 Exhibits E, F, G, and A --

24 A That's correct.

25 Q -- do those fairly and accurately depict the

1 condition of Lillian Gilliam on March 3rd, 1988?

2 A Yes, they do.

3 Q Have you performed treatments or surgery on
4 patients in your experience who have, a bunion
5 problem?

A Oh, yes. I've taken care of many of them.

7 Q After you have reviewed these x-raye and
8 examined Mrs. Gilliam, do you have an opinion
9 within a reasonable medical certainty as to
10 whether or not the surgery was necessary in her
11 case?

12 MR. POMERANTZ: Objection.

13 A Well, I did not see her at the time of her
14 original -- the time of her surgery, but based
15 on her x-rays, it does not appear that the --
16 that the bunion deformity was significant enough
17 to have warranted surgery.

18 Q Doctor, in your experience have you treated
13 patients who have suffered from pain from
20 similar injuries as suffered or complained of by
21 Mrs. Gilliam?

22 A The sprained ankle?

23 Q Yes.

24 A Yes .

25 a And have you treated patients with injuries to

1 the first MP joint who have experienced pain?

2 A Yes.

3 Q Can you tell the jury what kind of pain they
4 might -- might complain of?

5 MR. POMERANTZ: Objection.

6 A Well, in my experience as a practicing
7 orthopedist, patients who have a sprained ankle
8 have immediate pain and swelling in the ankle.
9 They sometimes have some black and blue area,
10 and this subsides and goes away as the sprain
11 heals.

12 As far as injury to the first metatarsal
13 phalangeal joint, particularly as far as a chip
14 fracture of that joint, they would have
15 immediate pain and swelling and black and blue
16 area from the fracture. And this, as well,
17 would generally subside by itself and go away.

18 Q All right. In your experience, would you expect
19 a person with this type of injury to seek
20 medical attention immediately?

21 A Yes.

22 MR. POMERANTZ: Objection,

23 A I think in my experience that people who have
24 significant sprains of the ankle or who have a
25 chip fracture of the metatarsal phalangeal joint

1 would seek medical care very shortly because it
2 would be painful.

3 Q Ate you -- Doctor, are you familiar from your
4 experience, training, and treating of other
5 patients as to the nature of causes for chip
6 fracture such as that complained of by
7 Mrs. Gilliam?

8 A Yes .

9 Q Can you explain to the jury what can cause this
10 type of injury?

11 A A chip fracture is, as I mentioned earlier,
12 basically a sprain. What it is, the joint is
13 put through a range of motion in a particular
14 direction beyond that which it normally can go,
15 and instead of tearing the ligament, you tear
16 off a little piece of bone, much as if you had a
17 pole that was being held up by a guy wire and
18 you push the pole in a particular direction,
19 instead of breaking the guy wire, you may pull
20 up the stake that's holding the guy wire down.
21 And that's -- the stake would be the chip
22 fracture? the part where the ligament would
23 attach to the bone.

24 Now, a chip fracture will occur,
25 obviously, on the side of the joint from which

1 you are pushing. That is, if you're pushing on
2 the pole, it's the guy wire on your side of the
3 pole that's going to be pulled up as you push
4 the pole away from you.

5 Similarly, if you have a chip fracture on
6 the top of the metatarsal phalangeal joint on
7 the big toe, if it's on the top of the joint,
8 then it's because the toe has been bent down too
9 far and it pulls the ligament on the top of the
10 joint and pulls a piece of bone off, And so
11 that this type of a chip fracture which we see
12 in Mrs. Gilliam, Ms. Gilliam, is due to pushing
13 the toe down towards the sole of the foot too
14 far and tearing the piece of bone off the top of
15 that metatarsal,

16 Q Doctor, I'm going to ask you to assume the
17 following facts are true.

18 Assume that Lillian Gilliam was 75 years
19 old at the time of the incident she is
20 complaining of. Assume that she was standing at
21 a table and that she turned away from the table,
22 and as she turned away from the table, she
23 stepped into a hole.

24 Assume that the hole was slightly larger
25 than her foot, and as her foot went into the

1 hole, her right foot, assume that she twisted
2 her ankle inward toward the inside of her right
3 foot so that the left side of her right foot and
4 ankle went down in the hole, and the right side
5 of her right foot and ankle bent. Assume
6 further that she did not fall.

7 Assume that she was wearing a pair of
8 nurse's white shoes laced up, And assume that
9 she did not seek treatment for about 60 days.

10 Based upon these facts, your education,
11 training, your residency, your practice of
12 medicine, your teaching at the school of
13 podiatry, and your examination of Mrs. Gilliam
14 and her records, do you have an opinion beyond a
15 reasonable medical certainty as to the cause of
16 the injury alleged by Mrs. Gilliam?

17 A Yes, I have an opinion.

18 Q Would you explain that opinion to the jury?

19 A Well, it's my opinion that based on all of the
20 facts as you gave them and on what she told me,
21 that she possibly sprained her ankle on one side
22 as she twisted it. It is totally improbable, I
23 would say impossible, for her to have sustained
24 a chip fracture of the large toe or any way
25 injure the large toe in this type of an injury,

1 particularly since she was wearing sensible
2 lace-up oxford nurse's shoes.

3 Q Would you explain to the jury why she could not
4 sustain a chip fracture wearing these shoes?

5 A Well, as I mentioned a moment ago, a chip
6 fracture is due to moving the joint beyond its
7 normal range of motion, and in this particular
8 case, it would have to be downwards towards the
9 sole of the foot. Well, if you've got a solid
10 sole of a shoe underneath your toe, You simply
11 can't move the toe through a range of motion
12 that would cause such a chip fracture. It would
13 be totally impossible.

14 MR. O'DONNELL: Okay, Thank
15 you, Doctor.

16 EXAMINATION OF RICHARD S. KAUFMAN, M.D.

17 BY MR. POMERANTZ:

18 Q Doctor, can I see your notes?

19 A Certainly. (Handing).

20 MR. POMERANTZ: Thank you,

21 VIDEO TECHNICIAN: We're off the
22 record,

23 BY MR. POMERANTZ:

24 Q Doctor, you examined my client, Lillian Gilliam,
25 on March 3rd, 1988, is that correct?

1 A That's correct,

2 Q And that was the first time you had ever seen
3 her?

4 A Yes, sir.

5 Q You were hired by Mr. O'Donnell to conduct that
6 examination, am I correct?

7 A That's correct.

8 Q You did not render any treatment to Mrs. Gilliam
9 at that time, did you?

10 A No.

11 Q And you've not seen Mrs. Gilliam since that
12 time, have you?

13 A No.

14 Q And you're not scheduled to see her in the
15 future, are you?

16 A Not that I'm aware of, no, sir.

17 Q The purpose of your examination was not to
19 render treatment to Mrs. Gilliam, was it?

19 A NO.

20 Q Rather, the purpose of that single examination
21 was to write a report for Mr. O'Donnell and to
22 testify if necessary?

23 A The purpose of the examination was to give an
24 independent medical evaluation of Mrs. Gilliam
25 and send a report of that independent medical

1 evaluation to Mr. O'Donnell, that's correct.

2 Q Doctor, how long did that examination of
3 Mrs. Gilliam last?

4 A I don't. have any idea, I don't time it a I do
5 in the examining room, I take a history, I
6 examine the patient, and I leave. I spend as
7 much time as is necessary to do a good,
8 thorough, complete examination of the patient's
9 problems. of course, it doesn't take much time
10 if there's nothing wrong, but I'd have no idea
11 how long it took.

12 Q And so then you would agree that a thorough
13 examination is important before you can make an
14 evaluation of a patient such as this?

15 A Of the part that is a problem, yes, sir.

16 Q Did you -- can you tell me how tall Mrs. Gilliam
17 is?

18 A Her height was not -- was not one of the
19 problems in her -- that she complained of, so I
20 did not examine her height.

21 Q All right, Doctor, I'm going to ask you certain
22 questions that can be answered with a yes or no
23 answer.

24 A I will answer them the way --

25 Q If you can not answer --

1 A I will answer them completely. I will not -- I
2 will not be dictated as to how I will answer the
3 question. I will answer as completely and as
4 truthfully as I possibly can. Now, ask all the
5 questions you like.

6 Q Doctor, if you cannot answer a yes or no answer,
7 just tell me that and then we'll go from there,
8 okay?

9 MR. O'DONNELL: Objection.

10 A Certainly.

11 Q Did you measure her weight on that examination?

12 A Her weight was not problem in this exam. No, I
13 did not,

14 Q Doctor, please. A yes or no answer.

15 A I said --

16 MR. O'DONNELL: Objection.

17 A I just answered your question,

18 Q Doctor, did you take her temperature?

19 A She didn't have a fever so I didn't take her
20 temperature.

21 Q Did you take her blood pressure?

22 A Her blood pressure was not part of her
23 complaints in this examination. I'm an
24 orthopedic surgeon, and I generally don't take
25 patients blood pressure, so I did not.

1 Q So the answer is no?

2 A That's correct.

3 Q Can you tell me what medications she was taking
4 at the time of your examination?

5 A As far as I know, she have not taking any, but I
6 don't know.

7 Q Did you observe her gait as she walked?

8 A Yes, sir.

9 Q Okay. Is that noted in your report?

10 A No.

11 Q Now, when this examination was over, you wrote a
12 report on the same day, I believe, is that
13 correct?

14 A That's correct.

15 Q And you sent a copy of that report to
16 Mr. O'Donnell?

17 n That's correct,

18 Q You did not send a copy of that report to my
19 offices, did you?

20 A No.

21 Q Prior to appearing here --

22 A I didn't know I should.

23 n Prior to appearing here today, did you have the
24 opportunity to review records of the Complete
25 Foot Care Center, the offices which treated

1 Mrs. Gilliam?

2 A No, sir.

3 Q Did you have an opportunity to review a report
4 of Dr, Arnold's from Complete Foot Care?

4 A Yes, sir,

6 Q Can you tell me how many times Mrs. Gilliam has
7 been seen by the foot doctors at Complete Foot
8 Care since the fall of 1985?

3 A No, sir.

10 Q If I represented to you that she had been seen
11 in their offices some 15 times, would you accept
12 that?

13 n I wouldn't doubt it. I think podiatrists would
14 do something like that, yes, sir.

15 Q I take it that you're familiar with the
16 discipline of podiatric medicine?

17 n I taught there for 20 years, I was a professor
18 at the college, Yes, sir.

19 Q All right. Would you agree with me that the
20 discipline involves the treatment of diseases
21 and injuries to the muscles, tissues, and bones
22 of the foot?

23 A Yes, sir.

24 Q And would you agree that a licensed doctor of
25 podiatric medicine is qualified to treat

1 injuries to the foot?

2 A He's supposed to be, yes, sir.

3 Q And would you agree further that a doctor of
4 podiatric medicine is qualified to read x-rays
5 and other diagnostic tests?

6 A of the foot?

7 Q Yes.

8 A He's supposed to, That doesn't mean he does,
9 but he's supposed to.

10 Q And a doctor of podiatric medicine is qualified
11 to perform certain types of surgeries to the
12 foot, Is that correct also?

13 A He's supposed to be able to, yes, sir. Doesn't
14 mean that he can, but he's supposed to be able.

15 Q Doctor, you said that you did not review the
16 office notes of the Complete Foot Care Center,

17 Did you review the medical records from
18 Huron Road Hospital relative to Mrs. Gilliam?

19 A No, sir.

20 Q Did you review any other medical records for
21 Plrs. Gilliam other than Dr. Arnold's report?

22 A NO.

23 Q Doctor, you work with other doctors in your
24 offices, is that: correct?

25 A That's correct.

1 Q And I take it that it's not unusual for one
2 doctor in the office to confer with another
3 doctor regarding a particular patient?

4 n Well, if there's a question about it, that's
5 true.

6 Q By the same token, I assume that on occasion a
7 patient of one doctor is seen by another doctor
8 in the office?

9 A We try to follow our own patients, but sometimes
10 we're not available. That sometimes happens.

11 Q I take it that's not an uncommon occurrence in
12 many medical offices.

13 MR. O'DONNELL: Objection.

14 A I don't really know about many medical offices.
15 I just know about ours.

16 Q On the occasion when you saw Mrs. Gilliam, you
17 took an x -- I'm sorry -- you took a history
18 from her, is that correct?

19 A That's correct.

20 Q And would you agree with me that a thorough
21 history is an important element of a complete
22 examination?

23 A A good history is, yes, sir.

24 Q In fact, the history taken from the patient is
25 an aid in reaching a diagnosis, is that correct?

1 A It should be, uh-huh.

2 Q And the history given by the patient to the
3 doctor is often instrumental in determining the
4 cause of an injury as well?

5 A It should be, yes.

6 Q Therefore, there's nothing improper if the
7 doctors at Complete Foot Care used the history
8 given by them -- by Mrs. Gilliam in determining
3 the cause of her injuries.

10 MR. O'DONNELL: Objection.

11 A Well. I don't know what the history was that
12 she gave them, of course. So I don't know
13 whether it was improper or not.

14 Q Now, you testified that you took a history from
15 Mrs. Gilliam when she came into your office?

16 A That's correct.

17 Q And again, a thorough history is an important
18 tool in evaluating the patient?

19 A I think you've asked that. My answer is still
20 yea.

21 Q And in that history Mrs. Gilliam told you that
22 she had injured her right foot when she
23 accidentally stepped into a small hole on
24 October 5th, 1985, correct?

25 A No, that's absolutely incorrect. As a matter of

1 fact, Mrs. Gilliam told me specifically that she
2 injured only her ankle and that she did not
3 injure her foot when she stepped in the hole,

4 Q Did she give you a history of having
5 accidentally stepped into a small hole on
6 October 5th, 1985, Doctor?

7 A That: she did, yes.

8 Q And did she also tell you that she had never
9 injured her right foot before October 5th, 1985?

10 A That's what she said.

11 Q And she told you that she had not injured her
12 right foot since that time?

13 A That's what she said.

14 Q Doctor, you noted in your history that
15 Mrs. Gilliam suffered a bruise or a contusion to
16 her heel some six years ago, is that correct?

17 A That's what she told me,

18 Q Would you agree that that injury did not involve
19 the first toe of the right: foot, by history?

20 A I -- the heel didn't involve any more than the
21 ankle did, that's right.

22 Q When you saw Mrs. Gilliam on March 3rd, you also
23 conducted an examination of her, correct?

24 A Yes, sir,

25 Q And you also took -- had x-rays taken on that

1 day, is that correct?

2 A That's correct,

3 Q Doctor, you showed us x-rays in Direct
4 Examination that you took when Mrs. Gilliam came
5 into your office, Am I correct that it is your
6 opinion, upon reading those x-rays, that
7 Mrs. Gilliam still has the fractured piece of
8 bone in her right foot?

9 A There is still a chip of bone on the top of her
10 large toe, yes, sir.

11 Q All right. And for point of reference, those
12 x-rays were taken on March 3rd of 1988?

13 A That's correct,

14 Q So then she still suffers from the same problem
15 she has had since -- since October 5th, 1985?

16 A The answer to your question is no, First of
17 all, on October 5th, 1985 she didn't suffer
18 anything in her large toe. Specifically, she
19 told me she had not,

20 Number two, when I saw her on -- on March
21 3rd of 1988, she didn't suffer from her large
22 toe at all. So that I can't -- I can't -- the
23 answer to your question was no, she was not
24 suffering either from something she didn't have
25 in October of 1985 nor something which she

1 didn't have in March of 1988.

2 Q Doctor, you reviewed x-rays taken on December
3 9th, 1985 --

4 A Yes, sir.

5 Q -- from Mrs. Gilliam, is that correct?

6 A That's correct.

7 Q At that time she suffered from a chip fracture
8 over the first metatarsal phalangeal joint of
3 the right foot, is that correct?

10 A No, that's not correct. The x-rays on whatever
11 it is --

12 Q December 9th

13 A -- December 9th show an old chip fracture on the
14 dorsum of the top of the first MP joint of her
15 right foot. Number one, it does not show that
36 she's suffering from it at all.

17 And two --

18 Q But she --

19 A Let me finish my question, You know, I'm
20 answering your last question first.

21 It does not show that she is suffering
22 anything, It just shows an old chip fracture
23 and it doesn't show any swelling or anything
24 like that and --

25 Q But she had a chipped fracture, of the right

1 first metatarsal phalanqeal joint of the right
2 foot on that day?

3 A She had an old chip fracture on that date,
4 that's correct.

5 Q And, in your opinion, she still has that chip on
6 March 3rd, 19881

7 A She still has a chip there, That's correct.

8 Q Now, what are the various possible courses of
9 treatment which can be followed for a chip
10 fracture of this nature?

11 A Well, I would say in 99 and 99/100ths of the
12 cases, nothing, The little chip fracture gets
13 better by itself. It's acutely painful, it
14 hurts for awhile, and the pain goes away, and
15 the little chip of bone just stays there
16 imbedded in the scar tissue from the sprain of
17 the joint which occurred and nothing is done and
18 nothing should be done.

19 Q In the cases in which that course of treatment
20 is not used, what other course of treatment can
21 be followed?

22 A Well, I don't really know if there's anything
23 else that needs to be done. I don't -- in 26
24 years of orthopedic surgery, I've never had to
25 do anything else except just leave it alone,

1 And I think that that would be the case,

2 I think that if the patient still had pain
3 in that area? I think the first things that you
4 would look for is some other cause of the pain.

5 I don't think the chip fracture would be it.

6 Q Doctor, your offices are equipped with
7 thermogram equipment? is that correct?

8 A That's correct.

9 Q Would you explain for us exactly what a
10 thermogram is?

11 MR, O'DONNELL: Objection;
12 relevance,

13 A A thermogram is a -- an infrared picture taken
14 of the patient's skin which indicates the skin
15 temperature in that area and the infrared
16 picture is then translated by a computer into a
17 colored television picture, each color
18 representing one degree difference in
19 temperature of the skin.

20 Q And what is the purpose of the thermogram?

21 A Well, it's a diagnostic --

22 MR. O'DONNELL: Objection. I'm
23 going to note a continuing objection on the
24 relevance of this line of questioning.

25 A Thermogram is used as a diagnostic tool

to indicate inflammation, to indicate nerve root irritation, to indicate vascular, that is, blood supply problems, that sort of thing.

Q And would you expect an abnormality on the thermogram to indicate an area of pain?

A No. It might indicate what the cause of the pain in that area, It might indicate -- it doesn't actually show pain, It shown the causes of the pain.

Q All right. Even though you have these machines at your disposal to take a thermogram, you did not take a thermogram of Mrs. Gilliam, did you?

A There was no indication to take a thermogram --

Q Okay, Thank you, Doctor.

A -- of Mrs. Gilliam because --

Q Doctor, in relation to this case --

MR. O'DONNELL: Objection. Let him answer the question.

MR. POMERANTZ: This question --

MR. O'DONNELL: We're not going forward until he answers the question.

MR. POMERANTZ: Tom.

Motion to strike Tom's comments.

Tom, I have the right to ask for a year or

1 no answer, and if he can't give a yes or no
2 answer, he should so indicate, and I am going to
3 hold him to that,

4 A Mrs. -- I did not take a thetmoqram of Mrs. --

5 Q Thank you, Doctor.

6 Now, Doctor, in relation to this case --

7 A -- because when I saw her --

8 Q Doctor, please. Doctor, please. I have the
9 right to --

10 A I have a right to finish -- I have a right to
11 finish the question.

12 Q Please, if you can not give me a yes or no
13 answer --

14 A when you get done screaming at me, I will finish
15 the question.

16 Q Doctor, in relation to this case you reviewed.

17 MR. O'DONNELL : Objection.

18 Q -- the x-rays taken by Complete Foot Care --

19 MR. O'DONNELL I Objection.

20 Q -- prior to her surgery, is that correct?

21 MR. O'DONNELL I Objection.

22 A When I saw Mrs. Gilliam, I did not take a
23 thermogram because there was none indicated
24 because she didn't have any pain in her joint;
25 and secondly, the previous surgery would have

1 caused the thermogram to be abnormal in any
2 case.

3 MR. POMRRANTZ: Motion to
4 strike as unresponsive to my question,

5 MR. O'DONNELL: Objection.

6 Q Doctor, in relation to this case you reviewed --

7 MR. O'DONNELL: Objection.

8 Q -- the x-rays taken --

9 MR. O'DONNELL: Objection.

10 Motion to --

11 Q -- by Complete Foot Care Center prior to her
12 surgery, Is that correct?

13 MR. O'DONNELL: Objection,
14 Motion to strike any testimony regarding a
15 thermogram as not being relevant because it
16 didn't involve in this case.

17 A Now, I'm sorry, What was your question?

18 Q Did you review the x-rays taken by Complete Foot
19 Care Center prior to her surgery in relation to
20 this case?

21 A Yes, sir.

22 Q And those x-rays revealed a piece of bone which
23 had broken away from the bone over the first:
24 metatarsal phalangeal joint from the tight foot,
25 is that correct?

1 A It showed an old chip fracture, that's correct.

2 Q Then I take it your diagnosis for Mrs. Gilliam
3 at that time would be a chip type fracture of
4 the first metatarsal phalangeal joint of the
5 right foot?

6 A My diagnosis of Mrs. Gilliam from those x-rays
7 would be a remote, that is, old, chip fracture
8 overlying the first metatarsal joint of the
9 right foot, that's correct.

10 Q Now, is this type of chip fracture normally the
11 result of some sort of trauma?

12 A Yes, sir,

13 Q And tripping in a hole would be one type of
14 trauma?

15 A Only if she were barefoot.

16 Q In other words, tripping in a hole is not a
17 trauma?

18 A Tripping in a hole would cause this only if she
19 didn't have any shoes on.

20 Q That's not my question, Doctor,

21 A Oh, I'm sorry. There's a new question.

22 Q Tripping in a hole would be one type of trauma,
23 is that correct?

24 A You mean -- I'm sorry, I don't follow the other
25 question. All right. The question is, is

1 tripping in a hole a trauma?

2 Q That's correct.

3 A Tripping in a hole is a trauma, You can break
4 an arm that way.

5 Q In the history you took from Mrs. Gilliam, she
6 did not relate to you any other trauma other
7 than stepping in the hole on October 5th, 1985,
8 is that correct?

9 A She denied any other trauma, that's correct.

10 Q Does a bone chip normally elicit pain in a
11 patient?

12 A Yes, when it's acute. Not when it's old like
13 this, But normally when it's acute, it does.
14 When it's a fresh chip fracture, it would, yes,
15 sir.

16 MR. POMERANTZ: Motion to
17 strike the last part of the answer as not being
28 responsive to my question,

19 Q And is surgical removal of the bone chip one
20 proper method of treatment in such a case?

21 A NO.

22 Q You would never remove a chip fracture by
23 surgery.

24 A Of any joint?

25 Q Of any joint.

1 A Oh, now we're not talking about this joint?

2 MR. O'DONNELL: Objection;
3 relevance.

4 A Oh, come on, If A chip fracture -- if a chipped
5 piece of bone were free and moving in the joint
6 and was caught in the joint surfaces, then it
7 should be removed, That's the only -- that's
8 the only indication for removal of a chip
9 fracture.

10 That's not this case you're talking
11 about, tight, because this case was not so.

12 Q Now, your single examination of Mrs. Gilliam was
13 on March 3rd, 1988, That's some 26 months after
14 the surgery was performed on her foot?

15 A I know it was March 3rd. Whatever her surgery
16 was, I presume it was in December. It's about
17 that; sounds about right.

18 Q Yet on that date you found stiffnesss on the
19 first metatarsal phalanqeal joint especially
20 with plantar flexion or flexion downwards, is
21 that correct?

22 A That's correct, uh-huh.

23 Q Now, when you identified the chip type fracture
24 in the x-rays taken of December 9th, 1985, would
25 it be your opinion that that bone chip must have

1 broken away from the bone at some time before
2 that x-ray?

3 A A long time before that x-ray? yes, sir.

4 Q Now, you're of the opinion that the acuteness of
5 the bone chip cannot be determined by the x-ray?

6 A What I meant was that you couldn't say whether
7 it was more than -- how much more than six
8 months old. It was not a fresh fracture? that's
9 obvious. But what I meant in my report was that
10 the exact age of it cannot be determined. It's
11 at least six months old.

12 Q In other words, from the x-ray you can not tell
13 when the bone broke away prior to the taking of
14 that x-ray?

15 A I can -- the answer to your question is I can
16 tell when it did not, and that is, it did not
17 break away within the last five or six months.
18 It was older than that.

19 Q Doctor, you're being paid by Mr. O'Donnell to
20 testify here today, is that correct?

21 A Being paid for my time, that's correct,

22 Q And you're being paid by Mr. O'Donnell?

23 A Yes, sir.

24 Q And this is not the first time you have
25 testified on behalf of a defendant in a civil

1 case either by deposition or in court, is it?

2 N No, it's not the first time.

3 Q In fact, you previously testified on behalf of
4 the defendant against an injured person In a
5 trial in which I represented that: person, is
6 that correct?

7 A I don't remember, I don't remember that sort: of
8 thing. The last --

3 Q I understand. There are a lot of them.

10 A The last time I testified was on -- I was asked
11 to testify by the plaintiff. So I don't:
12 remember what -- I see the patient, I testify,
13 I don't remember who asked me.

14 Q So if I told you that in that case you also
15 testified on behalf of a defendant against an
16 Injured person, you would have to accept that.

17 MR. O'DONNELL: Objection;
18 relevance,

19 A I don't have to accept anything. I certainly
20 would accept your word if you told me that was
21 so. I think you're an honorable person,

22 Q And you would also agree with that you testified
23 that that person's injuries were not related to
24 her accident.

25 MR. O'DONNELL: Objection;

1 relevance ,

2 A I don't have the foggiest idea what was wrong.
3 It may very well be that the other case the
4 patient didn't have anything related to her
5 accident either, I don't know, I don't
6 remember .

7 Q Doctor, in your career, how many times have you
8 given paid testimony either by deposition or in
9 court on behalf of a defendant against an
10 injured person?

11 A I don't have any idea, I don't keep track of
12 that sort of thing and --

13 Q Would it be over --

14 A -- For the most part I just give my testimony.
15 I don't remember, actually, if I was testifying,
16 who I was asked to testify by.

17 Q Would you say that you've testified on behalf of
18 the defendant against an injured person at least
19 a dozen times, either by deposition or in court?

20 A In the last 26 years, yes, I think that's fair
21 to say,

22 Q Would it be over 50 times?

23 A I don't know. I don't: keep track. More than a
24 dozen, but: other than that I can't tell you. In
25 26 years I just don't keep track.

1 Q But it could be over 50 then?

2 A I don't keep track.

3 Q So you have no way of knowing?

4 n I don't keep track. I'm trying to tell you
5 that. What I have testified to this morning, I
6 know, for a fact, I know is true. I am certain
7 of what I have said this morning. When you
8 asked me how many times I have testified, I'm
9 not certain, and when I don't know, I say so.

10 Q In the past 12 months how many times have you
11 given paid testimony?

12 A I don't know. I don't keep track of them.

13 Q Too many to count?

14 A I don't keep track of them.

15 Q More than you can count on one hand then, I take
16 it?

17 A Simply do not keep track of them. What I have
18 testified to I am sure of. What I am not sure
19 of, I will not testify to.

20 a But you would agree --

21 n That's all I can tell you. My answer would
22 stand. I don't know.

23 Q Would it be over a dozen times in the past year?

24 MR. O'DONNELL: Objection,

25 A I don't know.

1 Q So you can not say you have testified less than
2 a dozen times?

3 A I don't know.

4 MR. O'DONNELL: Objection;
5 asked and answered.

6 Q Have you ever been retained by Mr. O'Donnell's
7 law firm before, McNeal, Schick, Archibald, to
8 testify on behalf of a defendant against an
9 injured person?

10 MR. O'DONNELL: Objection.

11 A I don't testify on behalf of anybody. I may
12 have testified about a plaintiff and been asked
13 to examine thorn. I believe I have by their
14 firm, but I don't remember how often, I don't
15 remember the last time.

16 I know I've never seen Mr. O'Donnell
17 before.

18 Q You've also baan retained by the defendants to
19 examine an injured person and written a report,
20 is that correct?

21 A Yes, in the past, correct.

22 a In your career how many times have you been eo
23 retained?

24 MR. O'DONNELL: Objection;
25 relevance; asked and answered.

1 A I examine patients and write reports for lots of
2 people: For plaintiffs, for third parties, For
3 second opinions, for other doctors, As well as
4 for the defense. I don't -- doesn't make any
5 difference to me who has asked me to do it. I
6 examine the patient and I write the report, and
7 I certainly do not keep track of how many of
8 each I do, And I can not possibly answer your
9 question.

10 Q So then you would have no idea of how many times
11 in the past 12 months you have been hired by
12 defendants to evaluate injured persons and to
13 write a report.

14 MR, O'DONNELL: Objection;
15 asked and answered.

16 A That's exactly what I finished saying.

17 Q Doctor, how much are you being paid to testify
19 here today?

19 A For my time, \$650.

20 Q And that's being paid by Mr, O'Donnell?

21 A Yes, sir. I hope so.

22 Q And how much were you paid to examine
23 Mrs. Gilliam and to write a report?

24 A Two hundred, I think maybe it was two fifty. I
25 don't remember, Two hundred, I think.

1 Q That was also paid by Mr. O'Donnell?

2 A I believe it was. I don't know. I hope it will
3 be, if it hasn't been.

4 Q So in total you have or are being paid
5 approximately \$900 by Mr. O'Donnell in relation
6 to this case?

7 A I suppose. Eight fifty or nine hundred. T
8 don't know.

9 MR. POMERANTZ: I have nothing
10 further.

11 EXAMINATION OF RICHARD S. KAUFMAN, M.D.

12 BY MR. O'DONNELL:

13 Q Doctor, you paid -- you're paid by patients who
14 come to you for treatments, correct?

15 A Oh, absolutely. I'm paid by patients, I'm paid
16 by plaintiff's attorneys, I'm paid by third
17 parties who want another opinion, and the fee is
18 always the same for all of them, exactly the
19 same amounts,

20 Q Do you consider your time to be valuable?

21 A Yes, sir, I do,

22 Q Do you consider the charges to be reasonable?

23 A Yes, sir.

24 Q Now, Doctor, whether you're paid by defense
25 counsel or plaintiff's counsel, does that affect

1 your opinion in a particular case?

2 A Oh, not at all, There are some times I don't
3 even know whether it's a plaintiff or a
4 defendant attorney who is paying me, number one.
5 And number two, I have, on occasion, examined a
6 patient and sent the report to the wrong
7 attorney, But it doesn't. make any difference
8 because the only way we find out, we send the
9 bill to the wrong attorney. But the report is
10 always the same in any case.

11 Q Doctor, your report and your testimony today on
12 Lillian Gilliam, has that been changed just
13 because you've been paid by the defense?

14 MR. POMERANTZ: Objection.

15 A No, not at all; has no relation to that at all.

16 Q And as you testified, Doctor, the x-rays which
17 are exhibits in this case shot? a chip above the
18 first MP joint?

19 A Yes, sir.

20 Q Is that chip floating in the joint?

21 A Oh, no. It's in the soft tissue, in the scar
22 tissue, the joint capsule. It's pulled off by
23 the ligament and the ligament is still attached
24 to it. That's how it got pulled off in the
25 first place.

1 Q And in the x-rays shown on December 9th, 1985,
2 is that chip floating in the joint?

3 A No, it's not even -- it's not in the joint at
4 all. It's above the joint and it's not floating
5 in the joint at all. The x-rays clearly show it
6 outside the joint surfaces. As I say, a chip
7 fracture is pulled off by the ligament and it's
8 still attached to the ligament. It's not
9 floating in the joint.

10 Q And in the x-rays taken by your office in March
11 of 1988, that chip is still above the joint and
12 not in the joint?

13 A Exactly.

14 Q Doctor, is it necessary when you examine a
15 patient such as Lillian Gilliam to know her
16 height, weight, or her blood pressure or
17 medication?

18 A No, it really isn't. It's -- I'm examining her
19 foot and ankle and so that's all I do examine.
20 If it were of some significance, I would have --
21 have done the other as well,

22 Q All right. And in reference to questions on
23 Cross-Examination regarding the
24 patient-physician relationship, sometimes is it
25 necessary for you to confer with another doctor

1 in your office?

2 A Yes.

3 Q When you do confer with one of the doctors in
4 your office regarding a particular case, do you
5 consider that other doctor to be part of the
6 patient-physician relationship?

7 MR. POMERANTZ: Objection;
8 relevance.

9 n Well, depends on his relationship to the
10 patient. I think it would be if he helped treat
11 the patient, if he talked to the patient,
12 examined the patient with me. If we just sit
13 down and talk about the patient without: the
14 patient's being there, without seeing the
15 patient, that is, something in -- in abstract,
16 than I don't think it's a doctor-patient
17 relationship at all.

18 Q And in your opinion, Doctor, this chip fracture
19 of the plaintiff's first MP joint is older than
20 five to six monthn from the date of December
21 9th, 1985?

22 A Absolutely.

23 MR. O'DONNELL: Thank you,
24 Doctor,

25

EXAMINATION OF RICHARD S. KAUFMAN, M.D.

BY MR. POMERANTZ:

a Doctor, just a couple more questions,

A Certainly,

a X-ray, that's the equivalent to a snapshot in that it freezes a single moment and reflects a -- what's inside a person's body for a single moment, is that correct?

A At that time, yes, sir.

Q And you can not see movement of structures in that single x-ray,

n That's correct.

Q Now, would you agree with me that when you examine a patient, the -- one of the things that you try and accomplish is to determine whether certain movements elicit pain.

MR. O'DONNELL: Objection;
beyond the scope,

A Yes, sir.

a Would you agree with me that if a person was taking certain types of medication, that the ability to elicit pain would be impaired?

n Well, if they're unconscious, of course it would be.

Q But certain medication would also deaden pain,

THE: STATE OF OHIO,)
COUNTY OF CUYAHOGA.)

SS:


CERTIFXCATR

I, Judith Ann Trebus, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, Richard S. Kaufman, M.D., was by me duly sworn to testify to the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed upon a typewriter, and that the foregoing is a true and correct transcript of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without Adjournment.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 21st day of March, 1988.



Judith Ann Trebus, RPR, Notary Public
within and for the State of Ohio