

IN THE COURT OF COMMON PLEAS

LAKE COUNTY, OHIO

MARK E. PARTST, et al.,)

Plaintiffs,)

vs.)

RALF W. CASWELL, et al.,)

Defendants.)

Case No. 92 CV 001580
JUDGE JACKSON

DEPOSITION OF RICHARD S. KAUFMAN, M.D.
MONDAY, MAY 16, 1994

- - - - -

Deposition of RICHARD S. KAUFMAN, M.D., taken
as if under direct examination before Catherine Radie,
a Notary Public within and for the State of Ohio, and
by videotape, at the offices of Beachwood Orthopedic
Associates, 23250 Mercantile Road, Beachwood, Ohio
44022, at 5:00 P.M., Monday, the 16th day of May, 1994,
pursuant to notice and stipulations of counsel, on
behalf of the Defendants, to be read into evidence at
the trial of the above-captioned case.

- - - - -

KATHRYN KINNEY FOXX COURT REPORTERS
8547 HILLTOP DRIVE
MENTOR, OHIO 44060
(216) 257-5511

OBJECTIONS INDEXOBJECTIONS:PageLine

By Mr. Hackenberg

26

4

26

13

46

21

52

1

54

17

59

14

1 APPEARANCES:

2
3 Walter Mahle Co., I.P.A., by
4 Mr. Walter P. Mahle,
5 and
6 Dray, Powers & Lawson Co., I.P.A., by
7 Ms. Sandra A. Dray,

8 On behalf of the Plaintiffs:

9
10 Baker, Hackenberg & Collins Co., I.P.A., by
11 Mr. T. James Hackenberg,

12 On behalf of the Defendants.

13 - - - - -

14 STIPULATIONS

15 It was stipulated by and between counsel for
16 Plaintiffs and Defendants that, this deposition may be
17 taken in stenotypy by Catherine Radia; that said
18 stenotype notes may be subsequently transcribed into
19 typewriting in the absence of the witness, and that
20 the reading and signing of the deposition by the
21 witness are waived.

22 - - - - -
23
24
25

1 RICHARD S. KAUFMAN, M.D., of
2 lawful age, called by the Defendants
3 for the purpose of direct examination,
4 as provided by the Ohio Rules of
5 Civil Procedure, being by me first.
6 duly sworn, as hereinafter certified,
7 deposed and said as follows:

8
9
10 DIRECT EXAMINATION OF RICHARD S. KAUFMAN, M.D.

11 BY MR. HACKENBERG:

12 Q. Doctor, would you tell the jury your full name
13 and your office address?

14 A. Richard S. Kaufman, M.D. My office is at 23250
15 Mercantile Road, Beachwood, Ohio.

16 Q. And M.D. stands for Medical Doctor, does it not?

17 a. I'm a Medical Doctor, that's right..

18 Q. Would you tell us your educational background?

19 A. I received my B.A. degree summa cum laude from
20 Yale University in 1952, and my M.D. degree from
21 Columbia University in 1956.

22 Q. And then after your Columbia University M.D.,
23 Doctor, what did you do by way of your medical
24 training?

25 A. I then had five years of postgraduate training:

A year of internship at Mt. Sinai Hospital in Cleveland; a year of surgical residency at University Hospitals in Cleveland; two years of orthopedic surgery residency at Mt. Sinai Hospital; and a year of orthopedic surgery residency at Indiana University Medical Center in Indianapolis.

Q. That totals five years of --

A. Five years.

Q. -- postgraduate training; is that right?

A. That's right.

Q. Doctor, when did you complete your training?

A. In July 1961.

Q. And since 1961, have you practiced medicine on a full-time basis?

A. Yes. I've been in the private practice of medicine full-time since then.

Q. Do you limit your practice to any specific specialty?

A. I specialize in the field of orthopedic surgery.

Q. Well, obviously, Doctor, are you licensed to practice in the State of Ohio?

A. Since 1956, that's correct..

Q. Are you licensed to practice in any other states?

1 A. T'm also licensed to practice in Indiana and
2 California.

3 Q. Are you affiliated with any hospitals at the
4 present time?

5 A. T'm on the active staff at Suburban Community
6 Hospital, where T've been the Chief of
7 Orthopedic Surgery for the last, 27 years; Mt.
8 Sinai Hospital; Hillcrest Hospital; T was the
9 Chief of Orthopedics at Women's General Hospital
10 for 23 years, until it closed; and T'm the
11 orthopedic consultant to the Arthritis Clinic at
12 Cleveland Metropolitan General Hospital or
13 Metrohealth, as it's now called.

14 Q. Doctor, do you hold or have you held any
15 teaching positions?

16 A. Yes. T'm a clinical instructor in orthopedic
17 surgery at Case Western Reserve University
18 Medical School for the last, 29 years, and T was
19 a professor for 20 years at the Ohio College of
20 Podiatry.

21 Q. Have you ever published or delivered any papers
22 relating to the field of orthopedic surgery?

23 A. Yes. T've written a few papers dealing
24 primarily with the healing of fractures or
25 broken bones, and T've given innumerable papers

1 at, various meetings. I was invited to present a
2 paper at Orthopedic Grand Rounds at Harvard
3 University Medical School in Boston. I gave the
4 Harold Cummins Lectureship at Tulane University
5 in New Orleans. I was invited to participate in
6 a symposium on fracture healing at the Mid-
7 America Orthopedic Meeting at Colorado Springs,
8 and I gave the Dr. Russell Rizzo Memorial
9 Lectureship here in Cleveland.

10 Q. Doctor, do you belong to any professional
11 associations?

12 A. Yes. I'm a member of the Cleveland Orthopedic
13 Society, the Ohio State Orthopedic Society, the
14 Great Lakes Orthopedic Club, the Mid-America
15 Orthopedic Society, the Clinical Orthopedic
16 Society, the Aioelectric Repair and Growth
17 Society. I'm a Fellow of the American College
18 of Surgeons, a Fellow of the American Academy of
19 Orthopedic Surgeons, and a Diplomate of the
20 American Board of Orthopedic Surgery.

21 Q. Doctor, we talk about someone being board
22 certified; would you tell us what that means?

23 A. Well, when I became board certified, I had to
24 have four years of college, four years of
25 medical school, five years of postgraduate

1 training. When T finished that, T took a
2 three-day series of written and oral
3 examinations, which T passed the first time.

3 T then had to be in practice for two and a
5 half years and take a second set of written and
6 oral examinations, which T also passed the first
7 time, and was certified by the American Board of
8 Orthopedic Surgery as a fully-trained and
9 competent specialist.

10 Q. Doctor, how long have you been a board certified
11 orthopedic surgeon?

12 A. I was board certified in 1963, so that would be
13 31 years.

14 Q. Doctor, tell the jury just what the field of
15 orthopedic surgery encompasses.

16 A. Orthopedic surgery is the branch of medicine
17 that deals with the diagnosis and treatment,
78 both medically and surgically, of diseases and
19 injuries to what we might call the locomotor
20 system, the parts of the body that move you
21 about, primarily the bones and joints, but also
22 the ligaments and muscles and tendons and nerve
23 vessels -- nerves and blood vessels, to the
24 spine and the arms and legs.

40 Q. Now, Doctor, at my request, did you see one

1 Mark Parisi?

2 A. Yes.

3 Q. And would you tell the jury when you saw him?

4 A. September 77th, 1993.

5 Q. And *he* appeared *here* at your offices *in*
6 Beachwood?

7 A. Yes.

8 Q. When you first met him, Doctor, what did you do?

9 A. Well, I introduced myself, and then I took a
10 history, which is his story, as he tells it to
11 me. Whatever he says, I put down.

12 Q. And Doctor, what did he tell you?

13 A. He said that he was injured October 14th, 1990,
14 when the car he was driving was involved in a
15 collision from the front with a car, which he
16 said, pulled out in front of him. He was not
17 wearing a seat belt, he said his right knee hit
18 the console.

19 Apparently, he sustained an undisplaced
20 fracture of the lateral tibial plateau. Let me
21 say that in English. Undisplaced means that it
22 just cracked, that the pieces were not out of
23 line or moved at all, the fracture pieces were
24 in normal position. Fracture is the same thing
25 as a break or a broken bone. The tibial plateau

1 -- *this is* a model of the knee!, this is the
2 thigh bone or the femur, this is the **shin** bone
3 or the tibia, and the plateaus are the top of
4 this part. of the bone, the top of the tibia,
5 that. the femur rests on. And he **had** a fracture
6 through the lateral tibial plateau. It was a
7 **crack** that was undisplaced.

8 He was treated by Dr. Convery with a cylinder
9 cast., which is a cast that. goes from the upper
10 thigh to the ankle, for **six** weeks and then a
11 hinge cast. for. another month. After this, he
12 said he **did exercises** at home. He said that he
13 continued to have some knee pain, and had an
14 **MRT**, which is a magnetic resonance imaging.

15 That's a test in which the person is placed
16 in a large magnetic field and the magnetic field
17 *is* changed and then it's spun back again, and
18 the molecules and atoms disturb the magnetic
19 field **as it moves**, and the whole thing is fed
20 into a computer and it. **comes** up with an X-ray or
21 picture that. **shows not.** only the bone, but it.
22 shows the soft. tissues, **shows** the ligaments, and
23 it **shows** the cartilages, these cartilages that
24 we call the menisci inside the joint that. we
25 actually walk on.

1 And he had an MRT of the knee, which we said,
2 that. shows some degeneration of the medial and
3 lateral menisci, that is, the medial means the
4 one on the inner *side* -- that would be this one
5 -- and ?he one on the outer side, which would he
6 this one, the lateral. That's what, the medial
7 and lateral means.

8 And there was some degeneration, but there
9 was no tears, they weren't torn. Torn cartilage
10 is the kind you get when you have a locked knee,
11 that. sort. of thing.

12 He continued to have pain and swelling, and
13 in September of 1992, he had an arthroscopy. An
14 arthroscopy is a procedure in which a little
15 telescope, about as round as a pen, is placed
16 into the joint, and you can look around, using a
17 television camera. You can make a great. big
18 image, you don't. have to look down the little
19 telescope. And they have a very small
20 television camera, it's about. an inch square,
21 and it goes up on a big television screen and
22 you can see what's going on inside the joint,
23 and you can often then introduce instruments
24 into the joint. and look at. them with the
25 arthroscope and perform procedures, without

1 actually opening up the joint.. And this was
2 done.

3 And chondromalacia -- chondromalacia *is*
4 translated from the Greek. Chondro means
5 cartilage and malacia means softening. So it's
6 a softening of the cartilage, in the right.
7 lateral femora⁷ and tibia⁷ condyles, that, is,
8 the outer side of the knee joint., where his
9 fracture had been, there was some softening of
10 the cartilage, of the joint cartilage.

11 This was smoothed down. You can introduce a
12 smoothing instrument that smooths down the
13 roughened cartilage, and that was what. was done.
14 The patient said that he felt., quote, "Felt.
15 fine," unquote, after this. After the surgery
16 he felt. fine, and he returned to work two or
17 three weeks after the operation.

18 That. was a week -- a year before I saw him.
19 He said that at the time I saw him, the right.
20 knee pain had improved after the surgery, but
21 had suddenly increased two and a half weeks
22 prior to the time I saw him. He'd been doing
23 well up to the time he saw me. And he said that
24 when he saw me, the pain was as severe as it. hail
25 been before the surgery, He said the pain was

1 located along the outer side of the knee, along
2 the joint line, that is, the place where the
3 joint comes together, and also along -- it would
3 be on this side, the outer side of the joint,
5 and on the outer side of the joint itself. We
6 said it would come and go and was mild to
7 moderate in degree.

8 He said he was having pain at the time of --
9 that. I saw him, because the pain came and went.
10 So I asked him whether he was having it then,
11 and he said, "Yes." The pain was said to be
12 made worse by cold and damp weather and when he
13 was on his feet more than two to three hours,
14 and he said it was relieved by rest and the use
15 of ice.

16 He said that he had experienced symptoms of
17 intermittent swelling at times. The last time
18 the knee had been swollen was two days before I
19 saw him. So it wasn't swollen then. There was
20 no history of any giving way or locking. Giving
21 way is when the knee just suddenly gives out,,
22 so-called trick knee, and locking is when
23 something gets caught in the joint and you can't
24 move it because it's too painful. But he didn't.
25 have either of these symptoms.

1 His occupation was as a police officer. He
2 said he returned to regular work after about
3 three months. He said there had been periodic
4 loss of time from work because of symptoms prior
5 to the surgery, but he said that following the
6 surgery, he had lost no time from work at all.

7 There had been no previous or subsequent
8 injuries or symptoms in *his* knee, he had been in
9 good health, with no serious illnesses or
10 operations. He had taken no medication, on the
11 day I saw him, which would affect his symptoms.

12 Q. Was that the extent of the history that you took
13 from him?

14 A. Yes, *it* was,

15 Q. And after then taking the history, what did you
16 next do?

17 A. I did a physical examination of his knee.

18 Q. And would you tell the jury of what that
19 consisted?

20 A. Well, *it* consisted really of examination of only
21 the knee, because that's the only part that,
22 bothered him.

23 On examination, he appeared to be in no
24 discomfort, that *is*, just looking at him, he
25 certainly did not appear to be in any pain. He

1 was instructed to let me know if anything caused
2 him pain during the examination.

3 His gait, the way he walked, was normal. He
4 walked without a limp, he moved about easily.
5 He could walk on his heels and toes easily,
6 which indicated that he had good control of his
7 legs and particularly his knees.

8 Examination of his right knee showed no
9 swelling, that is, the joint itself wasn't.
10 swollen, there was no fluid in the joint. There
11 was no instability of the ligaments of the
12 joint, that is, the ligaments that hold it in
13 place were all nice and tight. There was no
14 redness or heat or any evidence that the joint
15 was inflamed. There was no skin discoloration,
16 that is, black-and-blue or redness or anything
17 like that.

18 Range of motion in his knee was normal,
19 without any pain. No crepitus could be felt on
20 motion of the right knee. Crepitus is a
21 feeling, a sort of sandpapery feeling, when two
22 rough surfaces -- one moves against the other,
23 -- a pat, sort of a rough, sandpapery feeling you
24 can feel when you put your hand over the joint,
25 as it's moving. This was not present in his

1 right knee.

2 However, there was severe crepitus felt on
3 motion of his left knee. That's the one he did
4 not, injure in this accident. He apparently had
5 considerable previous injuries to his left, knee
6 and there was a lot of crepitus in that knee,
7 but not in his right..

8 Q. Doctor, what's the significance of crepitus?

9 A. In the case of the joint surfaces wearing out.
10 and it's rough, the one rough surface is moving
11 on another, what we would call arthritis or
12 degenerative or traumatic arthritis.

13 Q. You found none in the right. knee?

14 A. No, none at all.

15 Q. Okay.

16 A. Moderate tenderness was said to be present.
17 along the outer side of the knee cap on the
18 right. knee, but it was not. present. over the
19 joint line, just along the outer side of the
20 knee cap.

21 Q. What's the significance of that, Doctor?

22 A. Actually, what, they found at the time of
23 arthroscopy was some roughening in the joint..
24 But where he said he had pain was along here,
25 along the edge of the knee cap, which is not.

1 where they found things to be wrong at the time
2 he had his arthroscopy.

3 Measurement of the muscles, the big
4 quadriceps muscles in the thigh, showed the
5 muscles to be -- the quadriceps muscles to be
6 equal, indicating normal use of the knee.

7 Actually, if you start to favor a joint, the
8 knee joint, the muscle would begin to waste away
9 very quickly, within a matter of a few days.
10 And the measurement of the muscles, the big
11 thigh muscles, indicated that they were both the
12 same and that would indicate he had normal use
13 of the right knee. He was not favoring it.

14 Q. Doctor, would you explain to the jury what you
15 mean by "within a few days," if you favor a leg
16 muscle?

17 A. It's been shown that, you can measure a
18 difference in the quadriceps muscles within four
19 days, if you start to favor the joint and not,
20 use it normally. That muscle wastes away very
21 quickly.

22 The right knee was actually one inch smaller
23 than the left knee. The left knee was the one
24 which he had previous surgery on and had had
25 some ligament repairs.

1 Q. What's the significance of the right knee being
2 smaller than the left. knee?

3 A. Well, the left, knee was the one that really had
4 all the injury in it. He had the crepitus and
5 he had the -- the knee was swollen from all the
6 previous surgery that. he had.

7 Q. I see.

8 A. That was the physical exam.

9 Q. Doctor, now, based upon your experience,
10 training, education, the history that you took
11 from Mr. Parisi and your examination, did you
12 come to any conclusions or opinions based upon
13 reasonable medical certainty?

14 A. Yes.

15 Q. And what. opinions or conclusions did you arrive
16 at?

17 A. It was my opinion that Mr. Parisi had a healed
18 fracture of the lateral tibial condyle, which is
19 what I showed on the model, he has complete
20 healing of it. The X-ray shows it. completely
21 healed, and there's no evidence of any residual
22 disability at the time I examined him.

23 Q. Doctor, what. do you mean by "residual
24 disability"?

25 A. Well, there was no evidence that there was

1 anything further wrong in the joint,.

2 Q. Doctor, did you cause X-rays to be taken of the
3 knee?

4 A. Yes.

5 Q. And did you read those X-rays?

6 A. Yes, I did.

7 Q. Or review those X-rays, I should say?

8 A. Yes.

9 Q. Again, what did those X-rays indicate to you?

10 A. The X-rays showed the fracture was completely
11 healed and that there was maintenance of a --
12 what we call a good joint. space.

13 On the X-ray, there appears to be a space
14 between the bones because there is cartilage
15 there, the kind of cartilage that you walk on.
16 And you can't see the cartilage, you can see the
17 bones, and there is a space between them. But
18 the thickness of that space gives a good
19 indication as to whether the amount of the
20 cartilage on the bones is of normal thickness.
21 And in this case, it is of normal thickness.

22 Q. And what, is the significance, Doctor, of the
23 cartilage being of normal thickness?

24 A. It indicates that there is no apparent wear and
25 tear, wearing it down.

1 Q. Now, Doctor, you examined Mr. Parisi when, did
2 you say?

3 A. September 17th, 1993.

4 Q. Now, apparently, Doctor, in November of 1993,
5 Mr. Parisi had a second MRT of his knee!. Of
6 course, you didn't know that at the time you
7 examined him.

8 A. No.

9 Q. You examined him before that. But I'm handing
10 you what we will mark Defendants' Deposition
11 Exhibit. 1 -- or ^A, we'll mark it later on --
12 would you review that, Doctor?

13 A. Yes.

14 Q. And would you tell the jury what that is, that
15 exhibit?

16 A. This is an MRT report.. MRT, again, is the
17 magnetic resonance imaging of the right knee of
18 Mr. Parisi that was -- yeah, Mr. Parisi -- done
19 31/8/93.

20 Q. Okay. And Doctor, that report, is written by
21 who?

22 A. Cheryl Petersilge. I'm sorry, no. There is
23 also -- there is a Jonathan Lock's name on it,
24 too, so I don't know which one it was.

25 Q. That would he, obviously, be a radiologist. that

1 performs the MRI?

2 A. Or two radiologists who read them, that's right.

3 Q. Would you tell the jury what the report.
4 indicates relative to the right, knee?

5 A. Well, it says a signal abnormality in the
6 mid-portion of the lateral tibial plateau.

7 Now, again, the lateral tibial plateau, this
8 is the outer side of the leg, the right knee, so
9 the lateral tibial plateau would be this one.
10 And in the middle of the lateral tibial plateau,
11 they didn't see anything particularly abnormal
12 in terms of the structure, but the signal that
13 came back from this spinning of the electrons
14 was different, than the other area.

15 And so they describe it, as a signal
16 abnormality, as described above, which falls in
17 the realm of an occult., which means something
18 you can't, really tell, but which you come upon
19 through some other means. Occult. osteochondral
20 lesion, which means something going on in the
21 cartilage of the knee joint,, which may be
22 post-traumatic, which we know it is, because
23 that's where he had the -- had his fracture, or
24 degenerative in nature. It probably is
25 post-traumatic, because that's where he had his

1 fracture.

2 Q Doctor, is that significant., based upon the
3 injury that, this man received?

4 A Certainly it's consistent with the fact. that he
5 has a healed fracture of that area. That's all
6 it says. Everything else about the joint, was
7 normal.

8 Q Other than he has a healed fracture of the area?

9 A That.'s right..

10 Q And that's what the MRI report indicates?

11 A It. indicates he's got. a signal abnormality,
12 which would be consistent with the healed
13 fracture.

14 THE WITNESS: Can we go off
15 the record for a moment.?

16 - - - - -

17 (Thereupon, a discussion
18 was had off the record.)

19 - - - - -

20 THE WITNESS: I'm sorry. Go
21 ahead.

22 Q Doctor, it would appear that this MRI of
23 November the 8th, 1993, was ordered for the
24 purpose of determining whether or not there was
25 a tear, it says RO tear?

1 A. Rule out tear. And that means a torn cartilage
2 or torn meniscus.

3 These C-shaped structures in the joint, these
4 are the menisci. And they weren't torn at the
5 time they did the arthroscopy, and the MRT
6 didn't show any tear on them either.

7 Q. Doctor, is that a common procedure, whereby an
8 MRT would be used to diagnose whether or not, in
9 fact, someone has a tear of the medial or --

10 A. Or lateral.

11 Q. -- or lateral meniscus?

12 A. Yes, it would be.

13 Q. And there was no tear, apparently?

13 A. No, no. It was normal.

15 Specifically, they say there is no tear.

16 Q. Now, Doctor, again, based upon your education,
17 experience, training, history you took, your
18 examination of this gentleman, do you have an
19 opinion based upon reasonable medical certainty
20 as to whether Mr. Parisi suffers from any
21 residual disability as a result of this injury?

22 A. Yes.

23 Q. And what, is your opinion?

24 A. It's my opinion that I found no evidence of any
25 residual disability.

1 MR. HACKENRERG: That's all I
2 have.

3 Do you want to go *off* the
4 record for a while, Walter?

5 MR. MAHLE: Whatever.
6 Let's continue, and if anybody needs
7 to take a break, we can.

8

9

10 CROSS EXAMINATION OF RICHARD S. KAUFMAN, M.D.

11 RP MR. MAHLE:

12 Q. Wow, Doctor, my name is Walter Mahle. I
13 represent, Mark Parisi in this action.

14 Can you tell me when your exam of Mr. Parisi
15 was scheduled?

16 A. Do you mean when *the* appointment was made?

17 Q. Right..

18 A. No, I don't know.

19 Q. Could you tell me who it was scheduled by?

20 A. No.

21 Q. Pardon?

22 A. I don't know.

23 Q. Was it scheduled by Mr. Hackenberg's office?

24 A. I don't know. I don't know who called the
25 *office*.

1 I don't. take the call. My appointment
2 scheduler takes the call.

3 Q. Would you look in your file and see if there is
4 a letter in there from Mr. Hackenberg?

5 A. Sure. Yes, there is one from Mr. Hackenberg,
6 dated September 14th.

7 Q. And I believe -- would you read the date
8 scheduled *for* the examination?

9 A. The date that it was scheduled is September
10 17th.

11 Q. Okay. What time of day was it.?

12 A. Says nine o'clock.

13 Q. Nine o'clock in the morning?

14 A. Yes.

15 Q. Okay. And would it, be fair to characterize this
16

17 A. It's an independent medical examination.

18 Q. All right., let's clear that up, Doctor.

19 A. I didn't know there was anything to clear up.

20 Q. Independent means that you have no
21 responsibility to either party in this
22 litigation --

23 A. That's true.

24 Q. -- and that's not quite true, is it?

25 A. I have no responsibility to either party in this

1 litigation. No, sir, I do not..

2 Q. I mean, your only responsibility is to give the
3 testimony that is favorable to Mr. Hackenberg?

4 MR. HACKENRERG: Objection.

5 A. Oh, that's ridiculous, and I think it's an
6 insult.

7 Q. All right., all right..

8 A. Well, I don't think it's all right, sir. I
9 think you should apologize.

10 Q. All right., I apologize.

11 A. Thank you, sir.

12 Q. We'll let people draw their own conclusions.

13 MR. HACKENRERG: Objection.

14 Q. Let me ask you, how many -- or how much do you
15 charge Mr. Hackenberg for this report.?

16 A. The examination report. is \$350.

17 Q. And how many medical exams do you perform on an
18 average, per week, in order to testify at trial?

19 A. I don't. know how many I do for that purpose.

20 About -- I do maybe six consultations a week,
21 which are people who I examine just for the
22 purpose of examining the patient. and sending a
23 report. to somebody, either a plaintiff's
24 attorney or defense attorney or a third party.

25 I don't, know how many of those actually are

350
x 6

\$2100.

3 ones which will eventually get to trial.

2 Q. Now, you had no responsibility to Mark Parisi to
3 treat him or provide him with medical service --

4 A. No, sir.

5 Q. -- did you? So that is different than what
6 we're talking about.. I'm talking about --

7 A. What are you talking about?

8 Q. I'm talking about you examine an individual
9 specifically for the purpose of testifying at
10 trial.

11 A. I just answered that, question, sir.

12 Q. All right.. Where you are not a treating
13 physician.

14 A. I think I just answered that question.

15 Q. All right.. And so you say how many a week?

16 A. I'd say, maybe six.

17 Q. Six a week. Do you charge \$350 for all of them?

18 A. Yes, sir.

19 Q. And so then that would --

20 A. That -- for all of them, whether they're
21 plaintiffs or defense or third party or second
22 opinion, whatever the examination is. That's
23 right.

24 Q. So that would be about 312 a year, then, is that,
25 correct, approximately?

350
x 6

2100

1 A. No.

2 Q. If you did six a week for 52 weeks --

3 A. I don't work 52 weeks a year.

4 I don't think you do, either-

5 Q. Well, I do, but I shouldn't.

6 But, Doctor, how many weeks do you work,
7 then?

8 A. About 46.

9 Q. Forty-six. And you charge \$350 for each of
10 these, right?

11 A. Yes, sir.

12 Q. And how many times -- okay, now, Doctor,
13 wouldn't it be true then that that represent
14 quite a bit of income to you in a year's time?

15 A. I don't know what you consider quite a bit.

16 Q. That's true.

17 A. It only represents about five percent of the
18 people that I see. I see many, many more people
19 that I treat.

20 Q. How long have you been performing defense or
21 other medical examinations, plaintiff or
22 defense?

23 A. Probably 30 years. I don't know if it's as many
24 as six a week, when I was first in practice. I
25 didn't, see nearly as many patients then as we do

a 2100.

x 46

\$96,000.0

1 now in a week's time, so that I don't think it
2 was nearly that many.

3 But I believe that probably 30 years, both
4 plaintiffs and defense, yes.

5 Q. And how many times have you testified in cases
6 over the past year?

7 A. Oh, I don't know. I don't keep track of it..

8 Q. You have no idea?

9 A. No, sir.

10 Q. How many times do you give depositions like this
11 for use in trial?

12 A. Oh, I don't, know. I don't keep track of those
13 either.

14 Q. You have no idea?

15 A. That's what I just finished saying.

16 Q. And what do you charge for deposition testimony?

17 A. \$850.

18 Q. Would that be more than one a month?

19 A. Yes, more than once a month, yes.

20 Q. Would it be twice a month?

21 A. I said -- what. I've testified to this evening,
22 I'm sure of, I don't know how many I do. I keep
23 telling you that..

24 Q. All right.. Now, what medical information was
25 provided to you by Mr. Hackenberg before you

850.
x 12

10200
96000.

106200.

no

3 examined MI-. Parisi?

2 A. I have what appears to be an ^①accident report,
3 police accident report, ^②emergency room report,
4 X-ray report. taken 10/14/90. I can't, quite see
5 it -- Lake Hospital ^③a hospital record for the
6 arthroscopy done 9/14/92, including the
7 operative report. and some other hospital
8 records, pathology report, hospital notes.

9 ^④There's some -- what. appears to be office notes
10 of Dr. Convery, another X-ray report of the
11 knee taken ^ANovember 7th of 1990, another X-ray
12 report. taken ^B1/9/93, ^C10/17/91 X-ray report,
13 ^C6/12/92 X-ray report, ^D1/26/91, ^E10/9/91,
14 ^P12/12/90, January 9th. '91.

15 Q. Doctor, do you receive the hospital admission at
16 the time arthroscopy was completed?

17 A. Yes. I've got some of it., yes. I've got. --

18 Q. no you have the operative records?

19 A. Yes, I have the operative report..

20 Q. Do you have the pathology report,?

21 A. Yes.

22 Q. Now, Doctor, how long ~~did your examination, the~~
23 ~~actual or pardon me, how long did the history~~
24 ~~-- did it take you to obtain the history from~~
25 Mark Parisi?

1 A. I don't, know. I go in, I do a history and
2 physical examination and I leave, and I don't.
3 keep track of the time. I have no idea.

4 Q. You have no idea?

5 A. No, sir.

6 Q. Would you disagree with me if I were to tell you
7 that it was less than five minutes?

8 A. I would very strongly disagree with you, but I
9 don't know how long it took. What. I've
10 testified to, as I said, I'm sure of. I don't.
11 know how long it took, I'm sure it took more
12 than five minutes.

13 Q. You're sure? Do you think it took ten minutes?

14 A. I'm not going to guess, sir. I'm sure of what I
15 testified to; I'm not going to start guessing.

16 Q. Did you -- would it be a fair statement that, the
17 physical exam only required about five minutes?

18 A. I don't know how long it took.

39 It doesn't take long when there is nothing
20 wrong, but I don't know how long it took.

21 Q. And of course, you concluded there was nothing
22 wrong?

23 A. After I finished examining him, I could not find
24 anything.

25 Q. Doctor, going to your report that you wrote to

1 Mr. Hackenberg, I see in the first -- second
2 line, there is a typographical error?

3 A. Yes. Should be October 14th, not October 4t.h.

4 Q. And now, Mark Parisi's knee, I believe you
5 indicated it had bit the console?

6 A. He indicated. That's what. he told me.

7 Q. Okay, And I believe Mr. Hackenberg sent, you the
8 police report,, didn't he?

9 A. Yes.

10 Q. Okay. And did the police report reflect that
11 Mark Parisi's car was thrown to the left. by the
12 impact?

13 A. I don't. know. I was treating him, not his car,
14 but --

15 I don't see where it. talks about a car being
16 thrown to the left.. No, sir, I do not..

17 Q. The diagram on the police report shows his car
18 was spun around counterclockwise.

19 MR. HACKENBERG: Are you telling
20 him that,, or are you asking him that.?

21 MR. MAHLE: Well, he has the
22 police report. that you sent him,

23 A. The police report doesn't say anything about the
24 car being spun around counterclockwise. And I
25 can't. guess --

1 Q. The ~~diagram~~

2 A. I'm sorry, I can't guess at his diagrams. I'm
3 just going by the written part..

4 Q. I want you to assume that he was traveling
5 westbound, that following the impact he was
6 traveling east-bound, that the front of his car
7 was swerved to the left. until it was going in
8 the opposite direction of what it was going, and
9 that. his knee hit the console.

10 Isn't that clinically significant in
31 evaluating a lateral fracture of the tibial
12 condyle?

13 A. No.

14 Q. Wouldn't that be consistent. with a blow from the
35 right,, where it. hit. the console?

16 A. Oh, yes, that, would be consistent, yes.

17 The fact that. the car turned around would not.
18 have any effect on the fact that he had
19 undisplaced fracture of his condyles, no.

20 Q. But that he struck his leg on the console to his
21 right,?

22 A. That, would be consistent, with the fracture.

23 Q. Now, you, Doctor, do you have in your records
24 the emergency room X-rays -- in fact, I will
25 hand you --

A. I've got one.

2 Q. These were marked as Plaintiffs' Exhibit 2-C.
3 Now, in your description of the injury, you
4 indicated that there was an undisplaced fracture
5 of the right lateral tibial plateau; is that
6 correct?

7 A. Yes.

8 Q. And the X-ray report., would you read the summary
9 in that, X-ray report.?

10 A. It says nondepressed, which means undisplaced,
11 vertical fracture, which means up and down
12 fracture, of the tibial plateau communicating
13 with the articular surface.

14 Q. Now, Doctor, would you agree that this actually
15 -- the fracture went into the articular surface?

16 A. Apparently.

17 Q. All right. And would that be clinically
18 significant in evaluating Mark's injury?

19 A. Yes.

20 Q. And you didn't mention anything about the
21 hospital record reflecting that the fracture
22 extended into the articular surface?

23 A. Well, an undisplaced fracture of the tibial
24 plateau would extend into the articular surface,
25 plateau fractures do.

1 Q. But the "into the articular surface" that, is
2 clinically significant. in evaluating the degree
3 of pain, suffering, and future disability he
4 might have from that., is it not?

5 A. You asked three questions. Which one do you
6 want, me to answer?

7 Q. Okay. That is clinically significant,, isn't it,
8 Doctor?

9 A. It might, be, yes.

10 The fact, that it's undisplaced, the tibial
11 plateau fracture would normally go into the
12 articular surface.

13 Q. But the damage to the articular surface is more
14 serious than just fracture of the bone, isn't
15 it?

16 A. Yes, it can be. If it's displaced, it certainly
17 can be.

18 Q. And that may be important in arriving at a
19 prognosis as to future problems he might, have
20 with that knee?

21 A. It might, be.

22 Q. Now, you said that. the MRT revealed
23 degeneration.

24 Can you explain degeneration, what is
25 degeneration?

- 1 A. Which MRT?
- 2 Q. I'm reading from your report.
- 3 A. Oh, the degenerative changes
- 4 Q. "Was said to show degeneration." I'm reading
- 5 from your report, Doctor.
- 6 A. Okay, I'm sorry. He had two MRI's.
- 7 Q. Right, but one of them was after your
- 8 examination.
- 9 A. That's right.. I didn't know what you were
- 10 talking about.
- 11 Okay. The MRI was said to show degeneration
- 12 of the medial lateral menisci.
- 13 Q. Can you tell the jury what "degeneration" means?
- 14 A. Wear and tear.
- 15 Q. And may that form a sandpaper-like effect, as a
- 16 result of degeneration?
- 17 A. Of a menisci?
- 18 Q. No.
- 19 A. What were you talking about, then?
- 20 Q. Okay. Let's talk about degeneration of the
- 21 tibial plateau.
- 22 A. You talked about, degeneration of menisci a
- 23 moment ago.
- 24 Q. Right., Well, I'm --
- 25 A. You're confusing me.

1 Q. I just, asked what degeneration involves in a
2 joint,.

3 A. It means wear and tear.

4 Q. And may that result. in pain with degeneration?

5 A. May or may not; all depends.

6 Q. But he continued to have knee pain. Now, I want
7 you to read, if you would, Doctor, from Dr.
8 Convery's operative report., And starting where
9 it says "immediately" -- and just, to save some
10 time, right here.

11 Would you read that, please? I would like
12 you to explain it,.

13 A. This was after he inspected a joint and found
14 the other parts of it normal. Then he says,
15 "The arthroscope was manipulated in the lateral
16 joint compartment- Immediately, it was noted
17 that. there was marked chondromalacia of the
18 lateral tibial plateau. There were multiple
19 fissures and crevices in the joint. surfaces of
20 the lateral tibial plateau" -- joint surface,
21 singular -- "of the lateral tibial plateau was
22 markedly roughened."

23 Q. Could you point. out those areas on this knee
24 joint that you have here?

25 A. Sure. It would be -- the lateral tibial plateau

1 would be over here.

2 Q. And the femoral condyle?

3 A. Would be here.

4 Q. And those two items go together --

5 A. That,'s correct..

6 Q. -- do they not,?

7 And is chondromalacia of the bone the same
8 thing as degeneration?

9 A. No. It's not. the same thing.

10 Q. What, is the chondromalacia that, the doctor
11 refers to?

12 A. Chondromalacia, translated from the Greek,
13 chondro means cartilage and malacia means
74 softening, so it's a softening of the cartilage.

15 Q. Okay. It stated there that. there were multiple
16 fissures and crevices of ~~the joint~~ line -- the
37 joint surface at the lateral tibial plateau,
18 right,?

19 A. That's what it says, yes, sir.

20 Q. Do you have any reason to doubt that?

21 A. No.

22 Q. And the crevices refers to the joint surface,
23 not the ligaments or the menisci, right.?

24 A. That's correct..

25 Q. Okay. And --

- 1 A. Those were normal.
- 2 Q. Pardon?
- 3 A- Those were normal, apparently.
- 4 Q. Right. The joint surface, is that below the
- 5 articular surface?
- 6 A. No. It is the articular surface!.
- 7 Q. How thick is the articular surface?
- 8 A. Depends on the joint.
- 9 Q. In the knee, I'm talking specifically now.
- 10 A. Oh, about a good quarter of an inch,
- 11 three-eighths of an inch.
- 12 Q. And would this involve the bone, also?
- 13 A. Oh, no, no. That doesn't even involve the
- 14 entire joint thickness.
- 15 It's just the surface of it that he's talking
- 16 about.
- 17 Q. All right. And what, is the function of the
- 18 surface, the articular surface?
- 19 A. It performs a smooth gliding surface for the
- 20 joint to move.
- 21 Q. And if the joint is roughened, then would that
- 22 cause pain?
- 23 A. It might...
- 24 Q. Would it cause swelling?
- 25 A. Possibly; not necessarily.

- 1 Q. And Mr. Parisi gave you a history that he had
2 swelling of his knee two days before your
3 examination, I believe?
- 4 A. That's what, he said.
- 5 Q. Do you have any reason to doubt that?
- 6 A. Yes. I didn't find any.
- 7 Q. But this was two days before, Doctor.
- 8 A. Well, I'm just saying, I didn't find any
9 evidence of anything that, would cause swelling
10 in his knee, and I see no -- you asked me the
11 question. All I can say is I saw no reason for
12 him to have had swelling two days previously.
- 13 Q. So then you believe that he was not telling the
14 truth?
- 15 A. No, I didn't say that; you did. I didn't even
16 bring up the possibility that he wasn't telling
17 the truth; you did.
- 18 Q. All right. But you believe that he did not
19 have swelling two days before that?
- 20 A. I didn't say that, either.
- 21 Q. Do you think that he did have swelling?
- 22 A. All I can tell you is that he told me he did.
- 23 CZ- All right. And what did the doctor do, reading
24 on from his operative report.?
- 25 A. The arthroscopic shaver was then manipulated

1 into the lateral joint. compartment.. This is a
2 thing that goes around and it's got a little
3 blade on it., and it's very thin shavings.

4 And the chondromalacia of the lateral tibial
5 plateau and the lateral femoral condyle was
6 debrided, which means to clean off the surface,
7 down to a smooth surface using the arthroscopic
8 shaver. So they took the rough surface and just
9 smoothed it. down.

10 Q. All right. And then, Doctor, would you refer to
11 the pathology report.?

12 A. Okay.

13 Q. That. is identified as Plaintiffs' Exhibit 4-R.
14 Doctor, would you read what. the diagnosis was
15 there?

16 A. Tissue clinically from right knee, pieces of
17 degenerate hyaline cartilage, chronic synovitis.

18 Q. Could you -- what is hyaline cartilage?

19 A. Hyaline cartilage is the type of cartilage that
20 is the surface of joints.

21 Q. And that indicated that. there was degenerate
22 hyaline cartilage, would that be consistent with
23 your -- would you believe that, is appropriate?

24 A. Yes.

25 Q. Okay. And what. is chronic synovitis?

- 1 A. Synovitis is an inflammation of the lining of
2 the joint. Chronic means over a period of time.
- 3 Q. And Doctor, if you have synovitis -- that, is an
4 inflammation, I believe you indicated, of the
5 synovial tissue?
- 6 A. Yes.
- 7 Q. And that causes swelling, does it not?
- 8 A. It might..
- 9 Q. And it was found a year before you examined him
10 that he had degenerative hyaline cartilage and
11 chronic synovitis?
- 12 A. Yes. There is nothing in the operative note
13 about the synovitis, but that's what the
14 pathology report, says, yes.
- 15 Q. And then synovitis, if the patient had chronic
16 synovitis, it, could cause periodic swelling?
- 17 A. We're not talking about him, we're talking about
18 people generally?
- 19 Q. Right..
- 20 A. Yes, that's possible.
- 21 Q. And the hyaline cartilage, then, is the same as
22 the articular joint surface, I believe?
- 23 A. Yes, that's correct.
- 24 Q. Now, in your letter you indicated that Mark told
25 you that his pain had increased two and a half

1 weeks prior to examination and is now as severe
2 as it, was before this surgery?

3 A. That's what he told me.

4 Q. Okay. And it was your understanding, from what
5 he told you, that that was during this
6 two-and-a-half-week period?

7 A. Pes.

8 He apparently did well until, suddenly, two
9 and a half weeks before he saw me, his knee got.
10 bad again.

11 Q. Now, you indicated that he -- when you examined
12 him, there was pain here at this area, beside
13 the patella or the knee cap?

14 A. On the outer side of the knee cap, that's
15 correct..

16 Q. And you said that would be a different area, I
17 believe, than where he had the fracture, or, I
18 mean, where he had the fracture --

19 A. That's correct..

20 B. -- in the articular surface.

23 Doctor, wasn't, the fracture right< here?

22 A. I'm sorry, where is "here"?

23 Q. Right. here.

24 A. The fracture was here, and he -- was here. And
25 he indicated here is where he was having his

I pain.

2 Q. And that. is where -- that is the area where the
3 femur and the lateral tibia plateau come
4 together?

5 A. No. It was the outer surface of the knee cap,
6 not the joint line, specifically, not. the joint.
7 line.

8 The joint line is where the femur and the
9 tibia come together, and where he indicated that
10 his pain was, was not, the joint line, but along
11 the edge of the knee cap.

12 Q. All right. Could you find me that in your
13 notes, Doctor?

14 A. Certainly.

15 Q. I mean -- no, I mean in your handwriting. I
16 tried to read it, and I can't..

17 A. The lateral edge of patella but not. over joint.
18 line.

19 Would you like to see it. again?

20 Q. No. I can see it now --

21 A. Good.

22 Q. -- that you read it to me. Okay. And how many
23 pages of material do you have there, Doctor?

24 A. I'm sorry, counting what?

25 Q. No; I mean of your notes.

1 A. Of my notes of him?

2 Q. Right..

3 A. Of my examination of him?

4 One, two, three, four.

5 Q. Four pages, and you obtained that information
6 during the time of your examination and --
7 during your examination and the history you
8 obtained?

9 A. That,'s correct..

10 Q. You recorded those four pages. And Doctor, did
31 you ask Mr. Parisi -- he said -- "is said to be
12 intermittent and mild to moderate in degree,"

13 Did you ask him, did you give him choices,
74 mild, severe, moderate, or did he say, "My pain
15 is moderate, mild to moderate"?

16 A. I probably asked him specifically, "How much
17 pain do you have? Is it mild, moderate, severe,
18 what sort of pain do' you have?"

19 And he said, "Mild to moderate."

20 Q. Okay. You didn't have to explain what. mild to
21 moderate meant.?

22 A. He didn't ask.

23 Q. Did you --

24 A. You know, small, medium or large, some idea.

25 Q. But these are actually, then, the words that you'll

had put to him?

2 A. Probably. Those are the ones he picked.

3 Q. Now, he indicated it was made worse in cold and
4 damp weather?

5 A. That's what he said.

6 Q. And would that be consistent with an injury of
7 this type, to be worse in cold and damp weather?

8 A. It's possible..

9 Q. And it was not a cold, damp day, when you
10 examined him on September --

11 A. I don't remember.

12 Q. Pardon?

13 A. I don't, remember whether September 17th of 1993
14 was cold or damp. Certainly, it's now May 16th,
15 and it's cold and damp today, so I don't know
16 what it was like in September.

17 @ - Okay. If the weather report reflected the
18 temperature was 66 degrees on that day and
19 there was no precipitation, would you disagree
20 with that?

21 MR. HACKENBERG: Objection.

22 A. Why would I disagree with that,?

23 Q. Okay. But you have no recollection, or you made
24 no note whether it was cold and damp in
25 preparing your report?

- 1 4. He said he was having pain that, day, but I did
2 not know whether it. WAS cold fir damp, no, sir.
- 3 Q. Now, ha also indicated that it is made worse
4 when he's on his feet. more than two to three
5 hours; is that right?
- 6 A. That's what. he said.
- 7 Q. Now, Doctor, did you inquire what his activities
8 were in the eight hours prior to the time of
9 your examination?
- 10 A. No. He said he was having pain, though, when T
11 saw him.
- 12 Q. Okay. Do you know if he was just coming off
13 duty, or whether he was --
- 14 A- I don't know.
- 15 Q. -- or whether he had been in bed for the
16 previous eight hours?
- 17 A. I don't have any idea.
- 18 Q. Okay. And you did not ask him, right?
- 19 A. That's just. what I finished saying.
- 20 Q. Okay. He did report intermittent swelling of
21 the knee?
- 22 A. That,'s what, he said.
- 23 Q. Now, Doctor, Mr. Paris5 had had no prior
24 injuries to that knee, to your knowledge, .had
25 he?

1 A. That's what he said.

2 Q. And you had no records indicating any prior
3 injuries to that knee?, did you?

4 A. No.

5 Q. And no records of any subsequent injuries to the
6 right knee?

7 A. That's what he said.

8 Q. And Doctor, is it your opinion then that the
9 fracture that he had was a direct result of the
10 accident., and no other cause?

11 A. Apparently.

12 Q. Now, you asked Mr. Parisi to let you know if he
13 had any pain during your examination. Did he
14 evidence any pain during your exam?

15 A. Yes.

16 Q. All right. Isn't it true, Doctor, that when you
37 palpated the area, that he winced with the pain?

18 A. This is the area along the edge of the knee cap,
39 but not along the joint line. That's right..

20 Q. So there was evidence of pain during the time of
21 the examination?

22 A. That's what he said, yes.

23 Q. And do you say that because the pain was here,
24 it was or was not, related to his injury?

25 a. Oh, it was not in the area of his injury, no.

1 The area of his injury was down here, and it. was
2 along here that he had the -- he said he had
3 tenderness.

3 Q. And that, is where the tibial plateau and femoral
5 condyle come together, right.?

6 A. No. Specifically, it was not where the femoral
7 condyle and tibial plateau come together.
8 Specifically -- because I tested that. area
9 specifically, and he was not tender over That
10 area. He was tender along the edge of the knee
11 cap.

12 Q. Do you think that tenderness was due to this
13 automobile accident.?

14 A. I don't know. I didn't find anything to
15 substantiate why it. would he tender, hut. I don't
16 have any idea why it was tender.

17 Q. Do your records reflect. that he reacted to pain,
18 when you palpated the area?

19 A. He said it was tender, that's what he said.
20 That's why I put it. down.

21 @- You didn't notice him wincing, when you pressed
22 it?

23 A. I didn't. make a note of whether he grimaced or
24 screwed up his face or what he did. He said it.
25 was moderately painful, so I put it. down. I put.

down everything he said.

2 Q. Doctor, you say that the measurements of the
3 quadriceps muscles showed them to be equal.

4 Did you inquire of Mark Parisi whether he is
5 right-handed or left-handed?

6 A. It doesn't make any difference. The quadriceps
7 muscles are equal, anyway. Because you use one
8 arm more than the other, but you've got to walk
9 on the legs alternately.

10 Q. Okay. Do you think it was clinically
11 significant that, his left leg was also -- had
12 been injured, I think you indicated, quite
13 seriously some 13 years before this?

14 A. Well, that knee was bigger than the other, yes.

15 Q. And the quadriceps muscle --

16 A. But, he was using it normally.

17 Q. Well, if you only have two legs, you have to use
18 one or the other, or both of them, you have to
19 favor both of them, if you have disability?

20 A. He wasn't favoring either one. His gait was
21 perfectly normal.

22 Q. Doctor, you indicate that there was complete
23 healing of this joint?

24 A. Of the fracture, that's correct.

25 Q. Of the fracture. What about the articular

1 surface?

2 |A. You can't see that on X-ray, but there, was a --
3 the joint, space appeared to be normal, that is,
4 the thickness of the cartilage appeared to be
5 normal. And the -- so that's all you can see on
6 a regular plain X-ray.

7 Q. Doctor, handing you what's been marked as
8 Plaintiffs' Exhibit, 5, I wonder if you could
9 show it. here?

10 Doctor, what has been marked as Plaintiffs'
11 Exhibit 5 -- and I want you to look at these
12 lower four frames here on the left side --
13 Doctor, now, you have testified that, the MRT
14 does reflect tendons, ligaments, and all of the
15 soft tissues, basically, and including the bone?

16 A. The bone isn't. part. of the soft tissue. In
17 addition to the bone!.

18 Q. The bone and the soft tissue.

19 Doctor, looking at this, where I'm pointing
20 here, would this, Doctor, have been the area
21 where Mark's fracture was?

22 A. Probably. It's all healed, but that's where
23 it. was.

24 Q. And Doctor, this surface appears to be eroded
25 here, the bony surface appears to be eroded.

1 MR. HACKGNBERG: Objection.

2 A. No, it does not. It appears to be nice and

3 smooth.

4 Q. Okay. Doctor, what is this here? The white

5 part is the bony surface, right.?

6 A. Yes, sir.

7 Q. And this surface here is not straight?

8 A. That's not the surface of the joint. But these

9 are the changes in the bone underneath the

10 cartilage.

11 I don't know if you can see with the head in

12 the way, but the cartilage is on top of that.

13 This is underneath the cartilage, and these are

14 just the changes in the healed bone, in the

15 healed fragment. But that has nothing to do

16 with the joint surfaces. As you can see, the

17 joint surface is nice and smooth.

18 Q. Doctor, would it be -- now, when you say that

19 Mark Parisi had no evidence of any disability at

20 this time, by that you mean at 9:30 in the

21 morning on September 17th; is that correct?

22 A. That's correct.

23 Q. Now --

24 A. Whatever time it was I examined him, that's

25 right.

1 Q. Right. I think that's what the record reflects.

2 A. I don't know. I don't know if the record
3 reflects what time I examined him.

4 Q. And you don't know if Mark Parisi would have
5 evidence of disability, if you examined him at
6 the end of his work duties, would you?

7 A. Except for the fact that. ha told me he was
8 having pain at the time that T saw him, and I
9 presume that. it. would be reflected -- whatever
10 pain he was having or would have would be
11 evident when I examined him.

12 Q. All right,.

13 A. But. I didn't. have any evidence of it.

14 Q. Injuries of this type are variable, T mean the
15 symptoms are variable: wouldn't that be a fair
16 statement?

37 A. Sometimes.

18 Q. And you've seen that in your own patients?

19 A. Oh, yes, sometimes.

20 Q. Some days they will have no problems, and the
21 next. time they can hardly walk?

22 A. When they say they're having pain, T usually
23 find evidence of it,.

24 T didn't., in this case-

25 Q. Rut. you only saw Mark for that one brief moment.

1 on September 17th?

2 A. During the examination that I did on him, that's
3 correct..

4 Q. And you have no knowledge whether Mark was
5 suffering a disability two days before you
6 examined him, when he said he had swelling of
7 that knee?

8 A. No. Just what he said.

9 He said he had pain when I examined him, and
10 I found no evidence of that.

11 Q. Okay, Doctor. Dr. Convery saw Mark on 12
12 different occasions, and he saw him when his
13 symptoms were variable!, He would have a better
14 clinical history on which to evaluate Mark's
15 future prognosis, having seen him when he was
16 having more severe symptoms than you found --

17 MR. HACKENBERG: Objection.

18 A. Is this a question?

19 Q. Yes. Would that be a fair statement?

20 A. No, that, would not be a fair statement.

21 Q. It would not?

22 A. No, sir, it would not.

23 Q. You would think that you could find out as much
24 in one examination that lasted maybe ten --
25 examination history that maybe lasted ten

1 minutes?

2 A. I don't know how long it takes. We just went
3 over that once before.

4 Q. Right. We went over it twice before.

5 A. That's right, and you're trying to slip it in a
6 third time.

7 Q. No.

8 A. Let's just stick to the facts, if you want to
9 ask questions.

10 @- All right. Let's say you saw him maybe for a
11 half hour --

12 A. However long it took to do a complete and
13 thorough examination.

14 Q. My point is, Doctor, this is maybe a half hour
15 out of Mark Parisi's life, and you have
16 indicated you don't know what his disability was
17 like two days before that., you have no knowledge
18 what his condition is today; isn't that true,
19 Doctor?

20 A. Yes.

21 Q. Would you expect Mark to have exacerbation of.
22 his right. knee pain, disability or swelling in
23 the future?

24 A. I find no evidence for it., no.

25 Q. Are you aware of what jobs -- or what Mark's

duties entail?

2 A. He's a police officer, that's all I have.

3 Q. Okay. Would it be -- do you know what a police
4 officer is required to do, pursue people that
5 are running from scenes of crimes, making sudden
6 moves, would that deteriorate or cause more
7 problems in this knee, or would it be --

8 MR. MAHLE: We'll strike --

9 A. Well, I would say -- if I get the gist of your
10 question -- that his occupation as a police
11 officer might cause him to reinjure his knee.
12 He could always hurt himself again, if that's
13 your question.

14 Q. But the injury that he has, would he be more
15 susceptible to reinjury than if he had no injury
16 to his right knee?

17 A. Well, I didn't find any evidence for that. The
18 menisci are all intact, the bone is all healed.
19 He doesn't have any ligamentous injuries.

20 Actually, his other knee is in a lot worse
21 shape than this one is.

22 Q. How much did Mark Parisi weigh?

23 a. I don't know. I don't have a note of that.

24 Q. Do you know his height?

25 A. No, sir.

1 Q. Would that- **he** important, clinically, t.o evaluate
2 the prognosis of a gentleman with a **knee** injury
3 and with chondromalacia?

4 A. It might have been, **but**. he doesn't **have** any
5 evidence that, he's got. any problems with *it* now
6 **anyway**.

7 Q. How old **is** Mark Parisi?

8 A. He was 35, when I examined him.

9 Q. **And he's** 36 now, Doctor --

10 A. I would expect that, because it's been a year.

11 Q. **No, it. hasn't, been** a year, but whatever.

12 A. **Six** months.

13 Q. **He** had a **birthday**, anyway.

14 Doctor, would the injury that Mark sustained,
15 **would** that **tend** t.o degenerate as he ages?

16 A. **It's** possible, yes.

17 Q. **And** would you say it's probable that, you would
18 have degeneration in that knee between, say, the
19 next. 30 years, which **is his working life**
20 expectancy?

21 A. I **can't**. say it's probable, no.

22 Q. You cannot.

23 Doctor, would it be beneficial to you, in
24 arriving at. an accurate prognosis, to reexamine
25 Mark Parisi at. this time?

1 A. I don't -- based on the examination which I did
2 on him in September, I didn't find anything
3 wrong with him at that time, and I can't see
4 where it, would he any benefit to see him again.

5 MR. MAHLE: All right..

6 Nothing further.

7

8

9

10 REDIRECT EXAMINATION OF RICHARD S. KAUFMAN, M.D.

11 BY MR. HACKENBERG:

12 Q- Doctor, just one question. Where Mr. Parisi was
33 complaining of tenderness was on the edge of
14 the knee cap?

15 A. That's correct..

16 Q. And that was not in the area where the injury
37 that the records indicate was that he received?

18 A. That's absolutely correct. He did not have any
19 tenderness in the area where he had previously
20 been injured.

21 Q. That's where you would anticipate tenderness,
22 if, in fact, one was having residual symptoms
23 from that type of an injury?

24 A. Yes, sir.

25 MR. HACKENBERG: That's all I

1 have, Doctor.

2
3
4
5 RECROSS EXAMINATION OF RICHARD S. KATJFMAN, M.D.

6 BY MR. MAHLE:

7 Q. Doctor, do you know what was causing the
8 tenderness at that point?

9 A. He just said it was present, so I put it down.
10 I found nothing that. I could identify as the
11 cause of it.

12 Q. Okay. You do not find tenderness to make a
13 patient wince in a noninjured knee, do you?

14 MR. HACKENBERG: Objection.

15 I don't know where this "wince"
16 came from. No one testified to him
17 wincing.

18 MR. MAHLE: They will.

19 A. I'm sorry, what's the question?

20 Q. Would you expect to see -- have a patient wince
21 on examination on a noninjured knee?

22 A. Not, usually.

23 MR. MAHLE: No further
24 questions.

25

1 FURTHER DIRECT EXAMINATION OF RICHARD S. KAUFMAN, M.D.-
2 BY MR. HACKENBERG:

3 Q- Doctor, just one more question, and I promise
4 this is the one more question.

5 In a response to a question by Mr. Mahle, you
6 testified that five percent, of your practice is
7 devoted to examining individuals for plaintiffs
8 or defendants or third parties or what. have you.

9 Just, so there is no misunderstanding, tell us
10 what, the other 95 percent of your practice
11 relates to.

12 A. Oh, I take care of sick and injured patients,
13 that's what I do a33 day long. I see patients
14 in the office, I see patients in the hospital,
15 in emergency rooms, I do surgery. This is most
16 of what I do. This is what I'm trained to do.

17 MR. HACKENBERG: Thank you very
18 much, Doctor.

19 MR. MAHLE: I have one other
20 question.

21

22

23

24

25

1 FURTHER CROSS EXAMTNATTON OF RICHARD S. KAUFMAN, M.D.

2 BY MR. MAHLE:

3 Q. Again!, if you made a mistake in your diagnosis
4 or evaluation, that creates no responsibility to
5 yoi or to anyone!, or to Mark Parisi, does it?

6 A. Oh, yes. I don't want to make mistakes; nobody
7 wants to make mistakes.

8 MR. MAHLE: No further
9 questions.

10 THE WITNESS: I will waive
11 viewing and I'll waive *signing*.

12 MR. HACKENRERG: Thank *you*,
13 Doctor.

14
15 (Signature Waived.)

16

17 - - - - -

18

19

20

21

22

23

24

25

CERTIFICATE

The State of Ohio,)
)
County of Lake.) **SS:**

I, Catherine Radie, a Notary Public within and for the State aforesaid, duly commissioned and qualified, do hereby certify that the above-named RICHARD S. WAUFMAN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that the reading and signing of the deposition by the witness were expressly waived by stipulation of counsel and the witness; that, said deposition was taken pursuant to notice and the stipulations of counsel herein contained, and was completed without adjournment; that I am not a relative or attorney of either party or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I hereunto set. my hand and
seal of office, at. Mentor, Ohio, this 15th day of
May, A.D. 1994.

Catherine Radie, Notary Public
8547 Hilltop Drive, Mentor, Ohio 44060
My commission expires 10-19-94.