

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 Do 2 6

4 JOSEPH McCLARIN, et al.

5 Plaintiffs,

6 -vs-


JUDGE CALLAHAN
 CASE NO. 253311

7 BRANDFORD GIDDINGS,
8 et al.,

9 Defendants.
 - - - -

10 Videotape deposition of RICHARD S. KAUFMAN,
11 M.D., taken as if upon direct examination before
12 Colleen M. Malone, a Notary Public within and
13 for the State of Ohio, at the offices of
14 Beachwood Orthopedics, Inc., 23250 Mercantile
15 Road, Beachwood, Ohio, at 3:30 p.m., on Friday,
16 September 16, 1994, pursuant to notice and/or
17 stipulations of counsel, on behalf of the
18 Defendants in this cause.
19 - - - -

20 MEHLER & HAGESTROM
21 Court Reporters
22 1750 Midland Building
23 Cleveland, Ohio 44115
24 216.621.4984
25 FAX 621.0050
 800.822.0650



 Mehler & Hagestrom

APPEARANCES:

Mary Jane Trapp, Esq.
Apicella and Trapp
1200 Bond Court Building
Cleveland, Ohio 44114
(216) 696-3550,

On behalf of the Plaintiffs;

Keith D. Thomas, Esq.
Meyers, Hentemann, Schneider & Rea
2121 The Superior Building
Cleveland, Ohio 44114
(216) 241-3435,

On behalf of the Defendants.

1 RICHARD S. KAUFMAN, M.D., of lawful age,
2 called by the Defendants for the purpose of
3 direct examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 DIRECT EXAMINATION OF RICHARD S. KAUFMAN, M.D.

8 BY MR. THOMAS:

9 MR. THOMAS: Let the record
10 reflect that we're here for the videotape
11 deposition of Dr. Richard Kaufman, which is
12 being taken for trial purposes and will, in
13 fact, be played for the jury during the
14 trial of the case of Joseph McClarin,
15 et al. versus Brandford Giddings, Case
16 Number 253311 and is pending before Judge
17 Kenneth R. Callahan and this deposition is
18 being taken pursuant to notice.

19 I assume all formalities as to
20 service, notice are waived?

21 MS. TRAPP: That's correct, Mr.
22 Thomas.

23 MR. THOMAS: All right. Thank
24 you.

25 Q. All right. Doctor, **would** you please state your

1 name for the record?

2 A. Richard S. Kaufman, M.D.

3 Q. All right. Thank you. And, doctor, are you a
4 duly licensed physician and surgeon in the State
5 of Ohio?

6 A. I've been licensed to practice in the State of
7 Ohio, I've practiced medicine in the State of
8 Ohio since 1956, which is now 37 years. I'm
9 also licensed to practice in Indiana and
10 California.

11 Q. All right. Thank you, doctor. And where is
12 your office located?

13 A. 23250 Mercantile Road, Beachwood, Ohio.

14 Q. And, doctor, would you please tell the ladies
15 and gentlemen of the jury where you received
16 your education and your medical training?

17 A. I received my BA degree summa cum laude from
18 Yale University in 1952 and my M.D. degree from
19 Columbia University in 1956.

20 I then had five years of postgraduate
21 training, a year of internship from Mt. Sinai
22 Hospital in Cleveland, a year of general surgery
23 residency at University Hospitals in Cleveland.
24 Two years of orthopedic surgery residency at Mt.
25 Sinai Hospital, and a year of orthopedic surgery

1 residency at Indiana University Medical Center
2 in Indianapolis.

3 Q. Thank you, doctor. And did, doctor, do you
4 specialize in any particular branch of medicine?

5 A. I specialize in the field of orthopedic surgery.

6 Q. And would you describe for the ladies and
7 gentlemen of the jury just what the specialty of
8 orthopedic surgery is?

9 A. Orthopedic surgery is the branch of medicine
10 that deals with the diagnosis and treatment,
11 both medically and surgically, of diseases and
12 injuries to what we might call the locomotor
13 system, the parts of the body that move you
14 about. Primarily the bones and joints, but also
15 the muscles, tendons, ligaments, nerves of the
16 spine and the arms and legs.

17 Q. All right. Thank you, doctor. And after you
18 received your education and your medical
19 training, did you then begin the practice of
20 orthopedic surgery on a full-time basis?

21 A. Yes, I've been in the practice of orthopedic
22 surgery full time since July, 1961, which is 33
23 years.

24 Q. All right. And, doctor, are you on staff at any
25 hospitals?

1 A. I'm on the active staff at Suburban Community
2 Hospital where I've been the chief of orthopedic
3 surgery for 29 years. Mt. Sinai Hospital,
4 Hillcrest Hospital. I was the chief of
5 orthopedic surgery at Woman's General Hospital
6 for 23 years until it closed, and I'm the
7 orthopedic consultant to the Arthritis Clinic at
8 Cleveland Metropolitan General Hospital, or
9 MetroHealth, as it's now called.

10 Q. All right. And, doctor, do you teach medical
11 students and/or residents?

12 A. Yes, I'm a clinical instructor in orthopedic
13 surgery at Case Western Reserve University
14 Medical School for 30 years, and I was a
15 professor for 20 years at the Ohio College of
16 Podiatry.

17 Q. And, doctor, are you a board certified
18 physician?

19 A. I'm board certified by the American Board of
20 Orthopedic Surgery.

21 Q. And what does it mean to be board certified,
22 doctor?

23 A. When I became board certified I had to have four
24 years of college, four years of medical school,
25 five years of postgraduate training. Following

1 that I took a three-day series of written and
2 oral examinations, which I passed the first
3 time.

4 I then had to be in practice for
5 two-and-a-half years and take a second set of
6 written and oral examinations, which I also
7 passed the first time and was certified by the
8 American Board of Orthopedic Surgery as a fully
9 trained and competent specialist.

10 Q. All right. Thank you. And, doctor, is board
11 certification done on a national and
12 international level?

13 A. Oh, yes, it's a national and international
14 certification.

15 Q. And, doctor, do you belong to any professional
16 associations?

17 A. I'm a member of the Cleveland Orthopedic
18 Society, the Ohio State Orthopedic Society, the
19 Great Lakes Orthopedic Club, the Mid-America
20 Orthopedic Society, the Clinical Orthopedic
21 Society, the Bioelectric Repair & Growth
22 Society. I'm a fellow of the American College
23 of Surgeons, a fellow *in* the American Academy of
24 Orthopedic Surgeons and a diplomate **of** the
25 American Board of Orthopedic Surgery.

1 Q. Thank you, doctor.

2 MR. THOMAS: Let's go off the
3 record for a second.

4 VIDEOTAPE OPERATOR: We are off
5 the record.

6 - - - -

7 (Thereupon, a discussion was had off
8 the record.)

9 - - - -

10 VIDEOTAPE OPERATOR: We are on the
11 record.

12 Q. All right. Doctor, as part of your practice in
13 orthopedic surgery, have you treated and
14 diagnosed patients with back problems or
15 physical problems which have ultimately resulted
16 in a surgical procedure known as a foramenotomy?

17 A. Yes, many.

18 Q. All right. Thank you, doctor. And, doctor, are
19 you being compensated for the time that you've
20 devoted to this case?

21 A. Yes.

22 Q. And, doctor, have you been asked to testify in
23 court by plaintiffs and defendants?

24 A. Oh, yes, more by the plaintiffs than defendants,
25 because 95 percent of my practice is taking care

1 of sick and injured patients, and those are
2 plaintiffs.

3 Q. All right. Doctor, did you have the occasion to
4 examine Ms. Mae Campbell at the request of my
5 office?

6 A. Yes.

7 Q. And when did you examine Ms. Campbell?

8 A. September 13th, 1994.

9 Q. And did you review Mae Campbell's medical
10 records as well?

11 A. Yes.

12 Q. And subsequent to your review of her medical
13 records and your examination of Ms. Campbell,
14 did you draft a report concerning your
15 examination and your findings?

16 A. Yes, I did.

17 Q. And would that report assist in your testimony
18 here this afternoon?

19 A. Yes. That represents my office notes and is
20 part of my office chart.

21 Q. If that's the case, doctor, please feel free to
22 review that report if you deem it necessary.

23 A. Thank you.

24 Q. Doctor, would you please tell the ladies and
25 gentlemen of the jury just what a history is?

1 A. A history is the story as the patient tells it
2 to me. Whatever she says, I put down. It
3 doesn't make any difference what she says,
4 whatever she says I put down, ask her how she
5 got hurt, how she's been treated, how she feels
6 today, that sort of thing. Whatever she says, I
7 put down.

8 Q. All right. And prior to your examination of Mae
9 Campbell, did you receive a history from Mae
10 Campbell?

11 A. Yes.

12 Q. And would you then for the ladies and gentlemen
13 of the jury tell her -- tell the ladies and
14 gentlemen of the jury just what history was
15 given to you by Mae Campbell?

16 A. She said that she was injured June 14th, 1991
17 when the car in which she was riding in the
18 front seat was involved in a collision from the
19 front with another car.

20 She said she was wearing a seat belt. She
21 said that her head hit the windshield and that
22 she was stunned but not unconscious.

23 Following the accident she did not develop
24 any symptoms until she developed some low back
25 pain two to three days later.

1 She went to Suburban Hospital three days
2 after the accident and was released after
3 examination and x-rays.

4 Following the accident she came under the
5 care of Dr. Sanford Friedman one week later, who
6 treated her with moist heat three times a week
7 for six weeks with only temporary relief.

8 In addition, she took analgesics, which are
9 pain pills, and a muscle relaxant.

10 She then saw Dr. Hardy in January of 1992,
11 which would be about six months after the
12 accident, seven months after the accident, at
13 University Hospitals, who treated her with
14 physical therapy for three to four months and
15 one injection of a steroid which is like
16 cortisone into her low back where the pain was
17 localized.

18 She said the injection did not give her any
19 relief.

20 She said that her, that she had two MRIs.
21 An MRI is a magnetic resonance imaging in which
22 the patient is placed in a large magnetic field
23 and the field is spun one way and then spun back
24 and you can get a picture of the, all put
25 through a computer, and you get a picture not

1 only of the bones but of the soft tissues, of
2 the nerves and the cartilage disks between the
3 bones in the back.

4 MS. TRAPP: Objection. Move to
5 strike any of the testimony that's
6 unresponsive. I believe the question is
7 what history was given to you, doctor, not
8 what an MRI is.

9 THE WITNESS: I'm trying to
10 explain, I'm trying to explain it as I go
11 along so that the jury would understand it,
12 I thought you would want them to know.

13 MS. TRAPP: Sure, counsel can ask
14 those questions.

15 THE WITNESS: I'm trying to be as
16 clear in my answer as I can be.

17 MS. TRAPP: Move to strike.

18 Q. You may continue, doctor.

19 A. She had two MRIs and a myelogram CT, which I
20 can't explain to you.

21 MS. TRAPP: Move to strike.

22 A. At University Hospitals.

23 MS. TRAPP: Objection, move to
24 strike.

25 A. The myelograms were said to show a herniated

1 disk at L4-5 and a mild herniated disk and
2 spinal stenosis at L5-S1.

3 She had the surgery in October of 1992,
4 which consisted of a foramenotomy at L4-5 on the
5 left and bilaterally at L5-S1 for bony
6 overgrowth and spinal stenosis.

7 The patient said that she had no treatment
8 since the surgery except for Motrin, 600
9 milligrams for, quote, severe pain, unquote,
10 That's Ibuprofen, for, quote, severe pain, which
11 she said she takes every other day. The last
12 time four days before I saw her.

13 She said that until the time of the surgery
14 her low back pain seemed to increase.

15 After the surgery she said her low back had
16 improved. She said it was located on the left
17 side. It was come and go and it was moderate in
18 degree.

19 She said that she was having pain at the
20 time of this examination, The pain was made
21 worse by excess activity, such as housework, by
22 bending and lifting, and was relieved by
23 analgesics and by walking.

24 She said that there was intermittent spread
25 of the low back pain to the left thigh behind

1 the knee.

2 She -- back of the left thigh to the knee,
3 I'm sorry.

4 The pain spread, she said the pain spread
5 from the low back to the left thigh, down the
6 back of the left thigh to the knee.

7 She said that she had numbness in the
8 entirety of both of her legs after she had been
9 sitting more than 45 minutes.

10 Her occupation was as a machine operator.
11 She said that she had not worked since the
12 accident.

13 She said that she did not plan to return to
14 work, if she could not find a job which paid her
15 as much as she was making prior to the
16 accident.

17 She said there had been no previous or
18 subsequent injuries or symptoms in the above
19 areas.

20 She had been in good health with no serious
21 illnesses or operations. No medication which
22 would affect her symptoms had been taken on the
23 day of this examination.

24 Q. All right. Thank you, doctor. Just for point
25 of clarification, doctor, would you explain to

1 the ladies and gentlemen of the jury just, first
2 of all, what an MRI is and then what a
3 myelogram/CAT scan is?

4 A. An MRI is a type of imaging in which the patient
5 is placed in a large magnetic field, the
6 magnetic field is spun one way and then it's
7 spun back and the atoms and molecules disturb
8 the magnetic field and the whole thing is fed
9 into a computer and they come up with an image,
10 not only of the bones, but of the soft tissues,
11 including the disks between the bones and the
12 nerves. In this case, of the lower back.

13 A myelogram is a test in which a needle is
14 placed into the sac surrounding the nerves in
15 the low back, the sac is filled with fluid and
16 the nerves, it's got nerves and fluid in this
17 sac. Another fluid is inserted, it's, it's
18 clear fluid, but we call it dye, because it, the
19 x-rays won't go through it, and it makes the
20 fluid around the nerves what we call radiopaque,
21 which means the x-rays won't go through it, it
22 turns white on the x-rays.

23 This then outlines the sac and the nerve
24 roots as they lie in the spinal canal.

25 After the dye has been injected and regular

1 x-rays are taken, then a CT scan is done, which
2 is a computerized x-ray in which a series of
3 x-rays are taken in a ring around the body and
4 all these images are then fed into another
5 computer and you come up with an image again of
6 the, not only the bones but of the soft tissues
7 and in this case also the myelogram dye is part
8 of the image, and so you can learn more
9 combining the CT scan and the myelogram
10 together:

11 Q. All right. Thank you, doctor. And did you have
12 the occasion to review a, or two MRIs or an MRI
13 of Ms. Campbell?

14 A. Yes.

15 Q. All right. And what were the results of that
16 MRI?

17 A. The MRI does show some herniation of the disk at
18 L4-5 and a mildly herniated disk, seemed to show
19 that at L5-S1 with what we call spinal stenosis,
20 which means a narrowing of the spinal canal, the
21 canal down through which the nerves come inside
22 the bone, nerves go through a canal and that
23 canal is narrowed in this case.

24 Q. All right. Thank you, doctor. All right.
25 Subsequent to receiving a history from Ms.

1 Campbell, did you then examine her?

2 A. Yes.

3 Q. And what did your examination reveal, doctor?

4 A. On physical examination Ms. Campbell appeared to
5 be in no discomfort, that is just looking at her
6 she did not appear to be in any pain, although
7 she had told me that she was.

8 She was told to let me know if anything
9 caused her pain during the examination.

10 Her gait was somewhat slow and deliberate,
11 as if moving about produced pain.

12 She walked with a cane because she said her
13 knee would tend to give way, not because she
14 needed it for balance, she just said her knee
15 would tend to give way and so she used a cane.

16 Examination of the low back revealed her to
17 stand without a list, she could stand straight,
18 it wasn't tipped, one side or the other.

19 There was a well-healed midline scar in her
20 back from her surgery.

21 The low back motion was mildly restricted,
22 due to voluntary guarding, that is you ask her
23 to bend down and back and side to side, and **all**
24 these motions were not quite normal in range,
25 because she would just stop moving, but she

1 didn't complain of any pain, she just stopped
2 moving.

3 Tenderness of moderate degree was said to
4 be present over the pelvis on the left side of
5 the spine, about two or three inches to the left
6 of the spine over an area that we call posterior
7 iliac spine, which is a little area of bone
8 about two-and-half or three inches from the
9 midline in the back and this was the only place
10 it was tender.

11 It was not tender over the muscles and
12 ligaments of her low back.

13 There was no muscle spasm noted. Muscle
14 spasm is the involuntary contracture of a muscle
15 when there is underlying pain and there was no
16 muscle spasm noted in the muscles of the lower
17 back.

18 The Lasegue's sign was negative bilaterally
19 when sitting, but was said to be positive at 40
20 degrees on the right and 20 degrees on the left
21 when laying on her back.

22 Now let me explain that, what that means.
23 The Lasegue's sign is a test we do with the
24 patient laying on -- it's done two ways, with
25 the patient sitting up, the leg can be

1 straightened out and this puts a stretch on the
2 nerves that comes down the back of the leg, from
3 the back and down the back of the leg, and if
4 that nerve is being irritated, will produce pain
5 in the back and down the leg. This is when
6 they're sitting up.

7 The same test can be done with the patient
8 laying on her back and the leg is brought up to
9 90 degrees like that and that's the same
10 position 'as if she was sitting on the edge of
11 the bed. In this case she could do this
12 perfectly fine, she had no pain at all when she
13 was sitting on the edge of the bed. However,
14 when she was laying flat on the bed, she said it
15 hurt at 40 degrees on the right, which would be
16 about that high on the right, and even less on
17 the left, 30 degrees on the left, she said
18 produced -- 20 degrees on the left, barely got
19 her off the bed, and she said it produced pain
20 in her back.

21 This is a contradiction because the two
22 tests should be the same.

23 The Patrick's sign was normal on both
24 sides. This is a test that we **do** with the
25 patient laying on her back and the heel of one

1 foot is placed on the opposite knee, much as if
2 you're going to tie your shoelace, and then the
3 first leg is brought down in sort of a figure 4
4 position and this puts a stretch on the muscles
5 and ligaments of the low back and if they are
6 inflamed, will produce pain. It did not in her
7 case.

8 Measurements of her legs showed the length
9 to be equal, which you would expect, the
10 circumference of the thighs and the calves were
11 also equal. If, sometimes if the muscle is --
12 if the nerve is being irritated or pressed upon,
13 it will lose some of its function to the, to the
14 muscle, and the muscle will tend to waste away.
15 And you can detect this by measuring the
16 circumference of the thighs and the calves even
17 before the patient has any demonstrable
18 weakness. But they were normal.

19 The neurological examination of the legs
20 revealed the knee jerks to be equal, that's
21 where you tap the knee and the leg kicks. There
22 is a similar reflex at the ankle, where you tap
23 the heel cord and the foot kicks. And this was
24 also normal.

25 There was no weakness in either leg.

1 Decreased sensation was said to be present on
2 the outer side of the left thigh, which is not
3 the distribution of either of the nerves that
4 were complained about, that were shown in the
5 MRI to be possibly involved, it's a much higher
6 nerve, the nerve on the outer side of the
7 thigh.

8 That was the physical examination.

9 Q. All right. Thank you, doctor. Doctor, you had
10 noted that during the history that was given to
11 you by Ms. Campbell, she indicated that she
12 suffered from numbness in the entirety in both
13 legs after sitting for more than 45 minutes, is
14 that correct?

15 A. That's what she told me.

16 Q. All right. Now, doctor, is that complaint
17 significant?

18 A. Well, not really because it's not, what's called
19 nonanatomical, it doesn't make any sense. There
20 are about five different nerves that go to the
21 legs and at least as far as any kind of pressure
22 on a nerve would be concerned, you don't get
23 numbness of the entire leg from sitting 45
24 minutes.

25 Sometimes you can cut **off** the circulation

1 to your legs if you sit cross-legged or
2 something, but that's a circulatory problem,
3 it's got nothing to do with her back or any kind
4 of pinched nerves.

5 Q. All right. Thank you, doctor. Now, subsequent
6 to your examination of Ms. Campbell, what did
7 you do next?

8 A. X-rays were taken by this office of her lower
9 back and pelvis and these showed some narrowing
10 of the disk space, the space between the bones
11 at the L -- at the two lower levels of the back,
12 L4-5 and L5-S1.

13 There are five bones in the lower back, and
14 they are numbered from one to five, and then
15 there is the sacrum which is the part of the
16 back that's part of the pelvis and there are
17 five bones in that which are all fused
18 together.

19 So the top one is S1, for sacral bone. And
20 so the space between the bottom two lumbar
21 vertebra or low back bones and between the last
22 lumbar vertebra, L5 and the top of the sacrum,
23 the disk space was a little bit narrowed and
24 there was the defect from the previous surgery
25 that she had had at those two levels, the bony

1 defect, you can see that.

2 Q. All right. Doctor, would you please explain to
3 the ladies and gentlemen of the jury just what
4 it means when you discuss a narrowing of the
5 L4-5 and L5-S1?

6 A. Well, it just means the two bones are closer
7 together. That can be due to a lot of things.
8 Primarily it's due, generally due to just a
9 degeneration of the disk, the disk gets dried
10 out, it doesn't have as much water in it, just
11 from wear, and tear and particularly of the
12 lower part of the back the disk gets dried out,
13 it gets smaller and the bones get closer
14 together, the disk space narrows.

15 Q. All right. Thank you, doctor. Subsequent to
16 taking x-rays of Ms. Campbell, did you have the
17 opportunity to review her medical records?

18 A. Yes.

19 Q. And what records did you review, doctor?

20 A. I reviewed x-rays from University Hospitals,
21 including a myelogram and a CT myelogram;
22 records from TRW; records from Dr. Zahrawi,
23 which are difficult to read because of poor
24 Xerox copies; records from Dr. Sheldon Friedman;
25 records of the University Hospitals; and records

1 from the Industrial Commission of Ohio.

2 The latter dealt with an elbow injury in
3 1989 which had nothing to do with this.

4 Q. Okay. Thank you, doctor. Now, doctor, upon
5 taking the history from Ms. Campbell, reviewing
6 her medical records, she had a surgery in
7 October of 1992, is that correct?

8 A. Yes.

9 Q. And what was that surgery, doctor?

10 A. They took off some of the bone in the back of
11 the spine to make the bony canal larger so that
12 it wouldn't press down on the nerves as much,
13 took off some of the overgrowth of bone that had
14 occurred there.

15 Q. All right. Now, what is that procedure called,
16 doctor?

17 A. It's called a decompression laminotomy and
18 foramenotomy. Foramen -- otomy means the cut,
19 and they cut, the lamina is the bone across the
20 back part of the bony canal, and the foramen is
21 the hole out through which the nerve goes. So
22 they open up that hole some more by taking out
23 some of the overgrown bone and they took off
24 some of the bone across the back to allow the
25 canal to have more room.

1 Q. Thank you, doctor. Doctor, do you have an
2 opinion based upon a reasonable medical
3 certainty as to whether or not the surgery that
4 you discussed and concerning Mae Campbell which
5 was performed on, in October of 1992, whether
6 that surgery was proximately caused by the motor
7 vehicle accident of June 14 of 1991?

8 A. Yes.

9 MS. TRAPP: Objection.

10 Q. You, and what is your opinion, doctor?

11 MS. TRAPP: Objection.

12 A. It's my opinion based upon reasonable medical
13 certainty that the surgery consisting of a
14 laminotomy and foramenotomy at L4-5, L5-S1 was
15 not the result of the automobile accident.

16 Q. And, doctor, what do you base your opinion on?

17 A. Well, the cause of the, need for the surgery was
18 the bony overgrowth and this takes years to
19 develop gradually over a long period of time, it
20 had nothing to do with the accident.

21 Q. All right. Doctor, you had the opportunity to
22 review the operative report from Dr. Hardy, I
23 believe?

24 A. Yes, that's correct.

25 Q. University Hospital, is that correct?

1 A. Yes.

2 Q. And that report indicated that there were no
3 herniated disks found when the surgery was
4 performed?

5 A. Yes --

6 MS. TRAPP: Objection. Form of
7 the question.

8 Q. You may answer, please.

9 A. When they, on examination of the operative
10 report, the, Dr. Hardy specifically looked at
11 the disks that were said to be bulging in the
12 MRI and found that they were normal, there was
13 no herniated disk.

14 Q. All right. And is that finding significant,
15 doctor?

16 A. Well, it's significant in the fact that she is,
17 that although there were some bulging disks
18 suggested on the MRI, on the imaging study, the
19 imaging study is still only an image, and the
20 best way to find out what really is there is to
21 look at the patient, and when Dr. Hardy looked
22 at the patient, she did not have a herniated
23 disk.

24 Q. All right. Thank you, doctor.

25 MR. THOMAS: Let's go off the

1 record.

2 VIDEOTAPE OPERATOR: We are off
3 the record.

4 - - - -

5 (Thereupon, a discussion was had off
6 the record.)

7 - - - -

8 VIDEOTAPE OPERATOR: We are on the
9 record.

10 Q. All right. Doctor, what causes bony overgrowth
11 of foramina?

12 A. This is caused by wear and tear, by just when
13 stress is placed on the body anyplace it
14 responds by increasing the bony mass and in
15 order to withstand the pressures and the
16 stresses and this is what happens, in the lower
17 back with time more and more bone is built up.
18 In some people it's built up to the extent that
19 it causes problems because of the bony
20 overgrowth.

21 Q. **All** right. Doctor, do you have an opinion based
22 upon a reasonable degree of medical certainty as
23 to whether or not the bony overgrowth of a
24 foramen was caused by the June 14th, **1991** motor
25 vehicle accident?

1 MS. TRAPP: Objection.

2 A. Yes.

3 Q. And what is your opinion, doctor?

4 MS. TRAPP: Objection.

5 A. It's my opinion based on reasonable medical
6 certainty that it was not caused by the
7 automobile accident, it was caused by wear and
8 tear over a long period of time, It was there
9 prior to the automobile accident.

10 Q. All right.. Doctor, in the history that was
11 given to you by Mae Campbell, did she indicate
12 to you what her occupation was prior to the
13 motor vehicle accident?

14 A. She said she was a machine operator.

15 Q. **All** right. And do you have an opinion, doctor,
16 based upon a reasonable degree of medical
17 certainty as to whether or not her employment as
18 a machine operator contributed to the wear and
19 tear or the degenerative process that you have
20 discussed here today?

21 MS. TRAPP: Objection.

22 Q. You can answer.

23 A. The wear and tear, something which we all get as
24 we grow older, just a matter of function of
25 living and doing stressful things during our

1 life.

2 I don't know exactly how much stress the
3 machine put her to that she operated, so I can't
4 answer that question directly. But it's due to
5 wear and tear over a period of time and just
6 general activities.

7 Q. All right. Thank you, doctor.

8 MS. TRAPP: Move to strike.

9 MR. THOMAS: Let's go off the
10 record for a second.

11 VIDEOTAPE OPERATOR: We are off
12 the record,

13 - - - -

14 (Thereupon, a discussion was had off
15 the record.)

16 - - - -

17 VIDEOTAPE OPERATOR: Stand by. We
18 are on the record.

19 Q. Doctor, do you have an opinion based upon a
20 reasonable degree of medical certainty as to
21 whether or not Ms. Campbell would have needed
22 the surgery which you have discussed even if
23 this motor vehicle accident had not occurred on
24 June 14th of 1991?

25 MS. TRAPP: Objection.

1 A. Yes.

2 Q. And what is your opinion, doctor?

3 MS. TRAPP: Object.

4 A. It's my opinion that, based on reasonable
5 medical certainty that she probably would have
6 had to have surgery on her back regardless of
7 whether or not she was in the accident. I don't
8 think the accident had anything to do with that.

9 Q. All right. Thank you, doctor. And, doctor, do
10 you have an opinion, based upon a reasonable
11 degree of medical certainty, as to whether or
12 not Mae Campbell physically is able to have
13 gainful employment at this point in time?

14 MS. TRAPP: Objection.

15 A. Yes.

16 Q. And what is your opinion, doctor?

17 MS. TRAPP: Objection.

18 A. It's my opinion, based on reasonable medical
19 certainty, that she could be gainfully employed,
20 if she wanted to be.

21 MS. TRAPP: Move to strike.

22 MR. THOMAS: All right. Thank
23 you, doctor. I don't have anything further
24 at this time.

25 - - - -

1 CROSS-EXAMINATION OF RICHARD S. KAUFMAN, M.D.

2 BY MS. TRAPP:

3 Q. Thank you. Doctor, my name is Mary Jane Trapp.
4 I'm with the law firm of Apicella & Trapp.

5 Dr. Kaufman, before I complete your
6 cross-examination, I'd like an opportunity to
7 take a look at your file, if I could.

8 A. Oh, of course.

9 MS. TRAPP: We will go off the
10 record.

11 VIDEOTAPE OPERATOR: We are off
12 the record.

13 - - - -

14 (Thereupon, a discussion was had off
15 the record.)

16 - - - -

17 VIDEOTAPE OPERATOR: Stand by. We
18 are on the record.

19 Q. Thank you, doctor.

20 A. Certainly.

21 Q. Are you -- it's true you've seen my client, Mae
22 Campbell, only one time, that's correct, on
23 September 13th, 1994?

24 A. That's correct.

25 Q. And that was more than three years after the

1 automobile accident which is the subject of
2 that, of this case, isn't that correct?

3 A. Yes.

4 Q. All right. And, doctor, isn't it true that the
5 depth of your knowledge concerning Mrs. Campbell
6 and Mrs. Campbell's symptoms and the course of
7 her symptoms and the course of her treatment is
8 not the same as her treating physicians, either
9 Dr. Friedman, Dr. Zahrawi or Dr. Hardy, who have
10 seen her 'repetitively?

11 A. It's much more complete than theirs.

12 Q. In what way, doctor?

13 A. Well, I had the advantage of having,
14 Dr. Friedman saw her before she had a lot of
15 this, these imaging studies and before she had
16 Dr. Hardy's surgery, so that I had the advantage
17 of what Dr. Hardy found at surgery and her
18 subsequent findings.

19 Q. But considering the fact that you've only seen
20 her one time and you don't have the benefit of
21 seeing her in your office and doing a hands-on
22 examination or actually opening up her body and
23 taking a look at her spine, as Dr. Hardy did,
24 you would agree with me that, wouldn't you, that
25 you're not in as good a position to, and you

1 don't have the full extent of the knowledge of
2 Mrs. Campbell in order to arrive at an opinion
3 about her pain and her disability and her
4 ability to work at this time?

5 A. No, that's not true, because as I say, I have
6 the advantage of having seen her after a period
7 of time that many of her doctors did not.

8 I certainly expect that Dr. Hardy described
9 accurately what he saw at the time of surgery,
10 so that I would expect that I would be aware of
11 whatever he found. I don't think he was hiding
12 anything when he dictated his notes, so that I
13 think that's been perfectly clear what he
14 found.

15 I think that it's -- I don't think there
16 was any more advantage to having seen it in
17 person as to having read his note which is
18 really quite clear.

19 Q. And you have no doctor/patient relationship with
20 Ms. Campbell, do you?

21 A. No, none at all,

22 Q. And the purpose of you seeing Ms. Campbell on
23 September 13th of this year was to prepare a
24 defense medical report for Mr. Thomas and not
25 for treatment of Ms. Campbell's problems,

1 correct?

2 A. My purpose of seeing her on September 13th was
3 to provide Mr. Thomas with a report as to what,
4 if anything, was wrong with Ms. Campbell.

5 Q. And not to treat any of her problems?

6 A. Oh, my, no.

7 Q. And, in fact, you have no responsibility towards
8 Mrs. Campbell for treatment?

9 A. No.

10 Q. And you have no responsibility to her for any
11 misdiagnosis that you may make of her problems
12 today?

13 A. I think everybody has a responsibility for
14 misdiagnosis. I don't think I've made any.

15 Q. You're saying that if you misdiagnose something
16 that later turns up there is a problem, that you
17 can be sued in malpractice?

18 A. I'm not a lawyer.

19 MR. THOMAS: Objection.

20 A. You, all you lawyers talk about suits, you don't
21 talk about patients.

22 Q. I'm talking about responsibility.

23 A. I'm sorry, you're talking about legal things I'm
24 not aware of.

25 Q. So you're refusing to answer my question about

your responsibility --

2 A. I'm not refusing at all. I'm just telling you
3 it's outside the realm of my -- my expertise is
4 an orthopedic surgeon. I think you have to talk
5 to a lawyer about what you're asking me now.

6 Q. The September 13th, '94 exam was arranged
7 between your appointment scheduler and
8 Mr. Thomas's office, correct?

9 A. That's correct.

10 Q. And you have a letter?

11 A. I presume that's correct.

12 Q. And you have a letter in your file from Mr.
13 Thomas's office regarding the scheduling of the
14 appointment?

15 A. I, I will have to look to be sure. I presume
16 you wouldn't have asked the question if that
17 weren't the case. Yes.

18 Q. How much did you charge Mr. Thomas for the exam
19 and report?

20 A. \$350.

21 Q. And you also charged for the x-rays that you
22 ordered as well?

23 A. Yes.

24 Q. And those were taken in your x-ray center next
25 door here?

1 A. Yes.

2 Q. And how much did you charge for the x-rays?

3 A. I don't know, that comes off the computer.

4 Whatever the Blue Cross UCR fee is for that, I
5 have no idea. Same amount we charge for
6 patients, doesn't make any difference.

7 Q. And, doctor, you're being paid by Mr. Thomas for
8 your testimony here today?

9 A. No, for my time, not my testimony. That's not
10 for sale.

11 Q. And how much are you being paid for your time?

12 A. 3 -- \$850 for the afternoon, however long it
13 takes.

14 Q. Doctor, how many medical exams do you perform on
15 average per week for the purpose of preparing a
16 medical/legal report?

17 A. About something between four and six.

18 Q. Isn't it more like six, doctor, or more?

19 A. I'm not arguing with you, I'm just answering
20 you. You asked me a question. You asked me a
21 question. I think it's between four and six,
22 four to six in theory, about five percent of my
23 time.

24 Q. Doctor, do you recall giving a deposition in the
25 case of Mark E. Parisi verses Ralf Caswell in

1 the Lake County Common Pleas Court, Case Number
2 292 CV 1580 on Monday, May 16th, 1994?

3 A. No.

4 Q. You don't recall that?

5 A. No.

6 Q. I have a copy of that transcript here, doctor.
7 And on page 25 do you recall this question being
8 asked and this answer being given:

9 "How many medical exams do you perform on
10 an average per week in order to testify at
11 trial?

12 "Answer: I don't know how many I do for
13 that purpose, about, I do maybe six
14 consultations a week."

15 Do you recall that question being asked --

16 A. Four to six.

17 Q. -- and that answer being given?

18 A. It's the same, I think it's the same figure I
19 just named. It's consistent, isn't it?

20 Q. And, doctor, when you prepare those reports --
21 and turning your attention to what I marked
22 Plaintiff's Exhibit A in your chart, **would** you
23 take a look at that?

24 A. Yes.

25

- - - -

1 (Thereupon, Plaintiff's Exhibit A
2 was marked for purposes of identification.)
3 - - -

4 Q Are you familiar with that document?

5 A Oh, yes, I use it all, all of my patients,
6 those, both the ones that I treat, which is 95
7 percent of the people that I see, and those in
8 which I just write a report, I use the same
9 form.

10 Q Would you identify that document for me?

11 A What do you want me -- how do you want me to
12 identify it, as what?

13 Q Well, tell me what that document is.

14 A It's a form that we use that I go through and
15 take the patient's history. It gives us a nice,
16 organized way of being sure that we get a good
17 history from the patient. It's a series of
18 questions and there is a lot of writing in the
19 margins as well as some checking of common
20 answers.

21 Q Isn't that basically a form outline of your
22 letter from start to finish with fill in the
23 blanks for different findings?

24 A. No.

25 Q. It isn't?

- 1 A. No, there is no blanks to fill in. What it is
2 is it's not an outline of my report. My report
3 is dictated from that, from that report just as
4 my office notes are on the patients on whom I
5 don't dictate a report. This is form that we
6 use for all of the patients.
- 7 Q. I'm not questioning whether you use it for --
- 8 A. It's not --
- 9 Q. -- medical or legal or regular patients.
- 10 A. There is no blanks to fill in. It's not fill in
11 the blanks, such as what your question said.
- 12 Q. Doctor, I'm reading from page one of Plaintiff's
13 Exhibit A, typed in it says: I examined blank
14 on blank, because of the injury which occurred
15 on blank, when the blank in which he or she was
16 driving, and you also have a check for riding
17 in, was involved in a collision from the, check
18 one, rear, front, right, left side, et cetera.
- 19 And if I can read from your report your
20 report reads: "I examined Mae Campbell on
21 September 13th, 1994 because of injuries which
22 she said occurred on June 14th, 1991 when the
23 car in which she was riding in the front seat
24 was involved in a collision from the front with
25 another car."

1 Now, what this indicates to me, doctor, is
2 that you have your report prepared with blanks
3 to fill in.

4 A. That's not true. I dictate the report and it's
5 typed from a stenographic tape. A report is not
6 prepared. Every report is typed de novo from a
7 stenographic tape.

8 Q. But it's fair to say, doctor, you have prepared
9 so many reports that you find it necessary, for
10 the efficient operation of your office, to
11 prepare a template, if you will, and then you
12 fill in the blanks from that point, is that not
13 true?

14 A. This is a rough outline of the questions which
15 we're going to ask and there is also plenty of
16 room, as you are also aware but didn't read, of
17 much that is written in. It's not, not blanks
18 at all.

19 Q. How many years have you been performing defense
20 medical exams?

21 A. I think about 30, 31, something like that. I've
22 been in practice 33 years.

23 Q. And I'm not sure whether I asked this question,
24 how much are you charging Mr. Thomas for this
25 trial deposition?

1 MR. THOMAS: Objection. Asked and
2 answered. Go ahead. You can answer.

3 A. \$850 for as long as it takes.

4 Q. How many depositions do you give a month?

5 A. I don't know.

6 Q. Pardon?

7 A. I don't know.

8 Q. More than one?

9 A. Yes, more than one.

10 Q. Do you ever give as many as one a week?

11 A. Yes.

12 Q. So, doctor, to summarize, you do maybe six exams
13 on average a week at \$350 each?

14 A. This is for all the exams together.

15 Q. Right.

16 A. Not just required by the defense attorneys.
17 Plaintiffs attorneys, other people as well.

18 Q. And you work 46 weeks a year?

19 A. Uh-huh, about.

20 Q. Given vacation. So your income from
21 medical/legal exams would be approximately
22 \$96,600 and your income in depositions would be
23 approximately \$10,200 for a total of
24 approximately \$106,800 in medical/legal income
25 per year?

1 A That's correct.

2 MR. THOMAS: Objection. If you
3 know.

4 A That's gross income, and our overhead is about
5 60 percent, that brings it down to about 40,000
6 and I split that five ways, because I'm one of
7 five people in the practice so that brings it
8 down to about 8,000 which is about right.

9 Q. During your exam of Ms. Campbell you found her
10 to be cooperative, didn't you?

11 A. I don't have any record to the contrary, so I
12 presume she was.

13 Q. She answered your questions?

14 A. Yes.

15 Q. And she followed your directions in the physical
16 exam?

17 A. Yes, uh-huh.

18 Q. Now, doctor, isn't it true that in your practice
19 you use both objective and subjective findings
20 in treating your patients?

21 A. A combination of both, that's right.

22 Q. And a complaint of pain would be an example of a
23 subjective symptom?

24 A. Pain or motion or tenderness, yes.

25 Q. And a muscle spasm, that a doctor trained such

1 as yourself would feel, is an objective symptom?

2 A. That's correct.

3 Q. Now, you took a history from Mae Campbell in
4 which she described a collision in which the
5 force of the impact caused her head to hit the
6 windshield, isn't that true?

7 A. Yes.

8 Q. And, doctor, you reviewed the records including
9 Dr. Sheldon Friedman's report, Dr. Friedman saw
10 her five days after the accident, isn't that
11 true?

12 A. Yes, uh-huh.

13 Q. And Dr. Friedman noted, did he not, objective
14 findings of injury to Ms. Campbell's back five
15 days after the accident, primarily muscle
16 spasms?

17 A. That's what he said.

18 Q. And he also found clinical or objective symptoms
19 of a brachial plexus impingement?

20 A. No, I don't know what that, what that means. I
21 don't know what you mean.

22 Q. Well, I'm using the words of Dr. Friedman.

23 A. Well, I don't know what Dr. Friedman means.

24 Q. Dr. Friedman is on the staff at Suburban.

25 You're not familiar with him?

1 A. Good for him. No, he's not an orthopedic
2 surgeon.

3 Q. Would you disagree, you recall -- read his
4 report, did you not?

5 A. I'd have to reread it in order to discuss it.

6 Q. Are you disagreeing that he found a brachial
7 plexus impingement clinically?

8 A. There certainly was no evidence in her history
9 of it, but I'd have to see, I'd have to reread
10 his report in order to discuss it, if that's
11 what you want me to do.

12 Q. Well, I'll read it to you.

13 A. Why don't you show it to me, I'll be glad to
14 discuss it.

15 Q. That's Dr. Friedman's report dated September 9,
16 1991?

17 A. Yes. Well, when I took a history from her she
18 never mentioned that she hurt her neck.

19 Q. But you reviewed Dr. Friedman's records?

20 A. That's what he said.

21 Q. That you stated on direct examination?

22 A. That's what he says. That's not what she said.

23 Q. Doctor, you have no criticism with the findings
24 or the course of treatment by Dr. Friedman, do
25 you?

1 A. Yes.

2 Q. You do?

3 A. Getting back to his report. Certainly I didn't
4 find anything wrong with her neck and I,
5 certainly there was no evidence that she had
6 anything wrong with the brachial plexus. I
7 don't know what Dr. Friedman even knows what
8 those symptoms would be, but I don't -- what
9 else, as far as his treatment, what I've got is
10 she had physical therapy for about six weeks and
11 pain pills and a muscle relaxant.

12 Q Doctor, you did not --

13 A That's, that's the treatment he did give her,
14 then if you'll give me the report, I will review
15 again what he said versus what she said, I
16 wouldn't have any objection to that.

17 Q Well, doctor, you did not put any of those
18 objections in your report to Mr. Thomas, dated
19 September 13 of 1994?

20 A What objections?

21 Q Any criticisms of the treatment or of the
22 findings.

23 A What --

24 Q You had the opportunity to review his report
25 before?

1 A. It's not for me to criticize Dr. Friedman.

2 You're asking the questions about him.

3 Q. But you're making the criticism now and you
4 didn't feel --

5 A. Only in response to your questions.

6 Q. Doctor, let me finish my question.

7 A. I'm sorry.

8 Q. You didn't feel that at the time you wrote the
9 report that that criticism was important enough
10 to put in a report, did you?

11 A. I didn't say anything about criticism, about it
12 not being important enough, I'm just answering
13 your questions. You seem to think it is.

14 Q. Now, you reviewed Dr. Zahrawi's records,
15 correct?

16 A. Yes, and I couldn't read them, it's a poor Xerox
17 copy, and I had a very, very tough time making
18 anything out of them.

19 Q. Did you ask Mr. Thomas to provide you with
20 clearer copies?

21 A. No, we didn't have enough time. I only saw the
22 patient three days ago.

23 Q. Well, don't you think it's important, doctor, to
24 get a full picture of her past medical history
25 before you pass judgment on --

1 A. I'm not passing judgment on anybody.

2 Q. Don't you think, don't you think it's a good
3 idea in, in following the standards of practice
4 of medicine when you are doing a medical exam
5 and writing a report and coming into court to
6 testify to get a complete and clear history,
7 past medical history of the patient?

8 A. I try to.

9 Q. And you know Dr. Zahrawi, don't you?

10 A. Yes, I know who he is.

11 Q. And he's a board certified orthopedic surgeon,
12 such as yourself?

13 A. I believe he is, uh-huh.

14 Q. Now, in Dr. Zahrawi's report, isn't it true that
15 he noted some objective symptoms?

16 A. I don't know. I don't know. You'll have to
17 show me the report.

18 Q. I thought Dr. Zahrawi's report was part of your
19 file?

20 A. I just finished telling you it's a poor Xerox
21 copy and I couldn't read it is what I said
22 initially.

23 Q. I'd be happy to provide you with a copy of it.

24 A. Oh, well, then sure.

25 Q. This is Dr. Zahrawi's report dated November 19th

1 of 1991.

2 A. Thank you. Okay. Let's see. First of all, Dr.
3 Zahrawi didn't mention her neck either.

4 MS. TRAPP: Move to strike,
5 unresponsive.

6 A. To what?

7 Q. There is no question before you.

8 A. Oh, I'm sorry.

9 Q. I'm just asking you if you've reviewed his
10 records? .

11 A. Yes.

12 Q. All right. Now, Dr. Zahrawi noted that upon his
13 physical examination he found that the straight
14 leg raise test was positive, isn't that true?

15 A. That's what he says, but he doesn't say whether
16 he did it with her sitting up or laying down and
17 since, when I did it of course it, she had
18 different complaints.

19 Q. Doctor, the question was, very straightforward, I
20 would, we're here at a deposition, unfortunately
21 I don't have a judge here to admonish you, but
22 please be responsive.

23 A. I'm trying to.

24 MR. THOMAS: I'll move to strike
25 that statement by counsel.

- 1 A. I'm certainly trying to.
- 2 Q. Doctor, the straight leg raise test was found to
3 be positive in Dr. Zahrawi's report, correct?
- 4 A. He doesn't say test.
- 5 Q. The straight leg raise was positive?
- 6 A. That's not exactly what he says either.
- 7 Q. Doctor --
- 8 A. He says straight leg raises are positive.
- 9 Q. All right. And I would assume that that's
10 through a physical exam where he performed a
11 straight leg raise test, correct?
- 12 A. That you would presume it or that it's correct?
- 13 Q. Wouldn't you presume that, another orthopedic
14 surgeon puts in a report that the straight leg
15 "raise was positive, that he performed a test and
16 that was his finding?
- 17 A. But he doesn't say how he performed it.
- 18 Q. But he found on a straight leg raise test that
19 there was a positive sign, correct?
- 20 A. Yes, that's correct.
- 21 Q. Fine. Thank you.
- 22 A. That's what he says. If that's what you're
23 asking me, that's what he says. That's what he
24 says.
- 25 Q. Doctor --

1 MS. TRAPP: Move to strike.

2 Q. And a straight leg raise test, a positive
3 finding is indicative of a disk problem, is it
4 not?

5 A. It's one, I don't know what he means by
6 positive, but it, the Lasegue's sign, the
7 straight leg raising sign is one of the signs of
8 a herniated, **of** a pinched nerve in the back, one
9 of the causes of a pinched never in the back is
10 a disk. .

11 Q. All right.

12 A. That answers your question?

13 Q. Yes.

14 A. Uh-huh.

15 Q. And also in Dr. Zahrawi's notes and I'll show
16 them to you --

17 A. Uh-huh.

18 Q. -- since you apparently couldn't read his,

19 **A.** This has blanks in it, too.

20 Q. In his handwritten notes he notes that there was
21 a complaint of pain radiating into the buttocks
22 down S1 and L5 derms to the knee, isn't that
23 what he found, among other things?

24 A. I'm just, I'm just looking for the blank in
25 which that is filled in.

1 Not on the page which you just handed me,
2 I'm afraid.

3 Q. Right there, doctor, pain into the buttocks
4 down --

5 A. Oh, pain, I'm sorry, pain centrally and across
6 iliac crest into buttocks and down S1 and L5
7 derms.

8 Q. Now, doctor, isn't that a classic distribution
9 of pain in relationship to a herniated disk?

10 A. No, it's,.that's two different dermatomes.
11 Herniated disk presses on one nerve. A
12 dermatome -- do you want me to explain what a
13 dermatome is or shall we just leave that --

14 Q. Just answer the question, doctor.

15 A. -- hanging.

16 Q. Isn't that a classical distribution of a
17 herniated disk or disks in that area?

18 A. It's, it's not a classical distribution but it's
19 a, it's a, could be caused by two different
20 disks, yes.

21 Q. All right. And Dr. Zahrawi didn't rely alone on
22 his clinical examination, he ordered an MRI,
23 didn't he?

24 A. Yes.

25 Q. All right. You did not order an MRI when you,

1 after you performed a physical examination on
2 Mae Campbell, did you?

3 4. I didn't need to.

4 Q. And you didn't order a CT scan?

5 A. I certainly did not.

6 Q. And after Dr. Zahrawi ordered an MRI he made a
7 diagnosis of a disk protrusion at L4-5 and
8 ordered therapy, conservative treatment, isn't
9 that true?

10 A. That's his diagnosis. It wasn't right, but it
11 was his diagnosis.

12 Q. Now, doctor, again your report does not contain
13 any criticism of Dr. Zahrawi's findings or
14 course of treatment, does it?

15 A. I was not asked to comment on Dr. Zahrawi's
16 findings or course of treatment. Just you have
17 asked me to, that's all.

18 Q. In your review of these records, neither of the
19 doctors' notes, Dr. Friedman or Dr. Zahrawi's,
20 notes any complaints of back problems
21 experienced by Mrs. Campbell before the June,
22 1991 accident, does it, do they?

23 A. No.

24 Q. In fact, Dr. Zahrawi's notes under the heading
25 of date of onset, says June, 1991 motor vehicle

1 accident?

2 A. That blank is filled in that way, correct.

3 Q. Now, you have reviewed Dr. Russell Hardy's
4 chart, correct?

5 A. University Hospitals, I'm not sure I had his
6 chart, per se, I have the University Hospitals
7 records. I don't know if I had his chart as
8 such.

9 Q. You know Dr. Hardy?

10 A. No.

11 Q. You teach over at the medical school, don't you?

12 A. I actually don't teach in the school, but I do
13 teach the medical students. I'm a member of the
14 medical faculty, that's right.

15 Q. You say you don't teach at the school?

16 A. I don't teach, I teach residents at Mt. Sinai, I
17 teach them at University Hospital, at Metro, but
18 not at the university.

19 Q. So you're in effect what they call an adjunct
20 professor?

21 A. That's right, clinical instructor in orthopedic
22 surgery.

23 Q. Now, you would not dispute with me if I told you
24 Dr. Hardy is a board certified neurosurgeon and
25 a professor at Case Western Reserve?

1 A. I wouldn't dispute anything. That's your
2 department, not mine. I'm an orthopedic
3 surgeon.

4 MS. TRAPP: Doctor, move to strike
5 the colloquy.

6 Q. Dr. Hardy noted objective symptoms in the
7 University Hospital records, did he not?

8 A. I don't know. I don't remember.

9 Q. In your review of his records, did you not find
10 that Dr. Hardy found pain radiating into the
11 left leg at approximately the S1 distribution
12 since the motor vehicle accident in June, 1991?

13 A. I don't remember that, but it wouldn't be an
14 objective finding in any case.

15 Q. Why not?

16 A. Because the pain is a subjective finding.

17 Q. But isn't, isn't there a mix when a physician is
18 examining a patient, in the sense that the
19 patient has to tell you that there is pain, I
20 guess that's subjective, but where a patient
21 describes a classical distribution or a normal
22 distribution of pain for a problem, then what
23 you have is objective; wouldn't you agree with
24 that?

25 A. Yes;

1 Q. All right. And pain radiating into the left leg
2 at approximately the S1 distribution, that would
3 be a classic distribution for a herniated disk
4 at that area?

5 A. Possibly.

6 Q. Probably, would it not be, doctor?

7 A. Well, there are other things that have to go
8 along with it, other, I have to find out whether
9 this was -- how we determined this, whether it
10 was confirmed by other findings as well, a lot
11 of other things that go into it than just that.

12 Q. But in coming up with the diagnosis, that would
13 be one piece of the puzzle, so to speak?

14 A. That would be one piece, but only one piece.

15 Q. Now, on the date of the accident Mr., Ms.
16 Campbell was 50 years old, was she not?

17 A. She was born 12-29-40, so the accident was June
18 of '91, so she would be 50 years old.

19 Q. Okay. And you have reviewed, using your words
20 from the report, copious records pertaining to
21 her past medical history and treatment. And you
22 would agree with me, doctor, that there is not
23 one note of a complaint of back problems,
24 especially with radiating pain, until after her
25 June motor vehicle accident in 1991?

1 A. That's right.

2 Q. And in fact your report states no previous or
3 subsequent injuries or symptoms in the above
4 areas, correct?

5 A. That's not, that's not -- again you're, I don't
6 know if you mean to, but the, this is her
7 history, this is what she told me, that's not my
8 report, it's just what she told me, that she had
9 no previous symptoms in those areas.

10 Q. And you made --

11 A. I wrote it down.

12 Q. And you made that, you put that statement and
13 put it into your record?

14 A. Oh, sure, I put all her statements down, that's
15 her story, she told it to me, it doesn't make
16 any difference what she said, I put them down.

17 Q. In fact, you learned in your history that until
18 the motor vehicle accident she had been
19 successfully working as a machine operator at
20 TRW and she told you that the job required her
21 to stand on her feet for eight hours and lift
22 heavy parts, but you didn't put that in your
23 report, did you, doctor?

24 A. I don't think she told me that.

25 Q. You're disputing that Mae Campbell told you that

1 during the history?

2 A. She told me she worked as a machine operator.

3 Q. And you're disputing that she --

4 A. I'm not disputing anything.

5 Q. Doctor, let me finish my question. You're
6 disputing that she told you about the
7 requirements of her job that she stand on her
8 feet for eight hours and lift heavy parts?

9 A. I have no record that she told me that.

10 Q. And she also told you during that history here
11 in your office just a few days ago that
12 Dr. Hardy still has her on restrictions and that
13 TRW has no light duty work for her and that's
14 the reason why she's not, one of the reasons why
15 she's not going back to work, isn't that true?

16 A. No.

17 Q. You're disputing that she told you that?

18 A. Yes. That's not, that's not why she said she
19 wasn't going back to work.

20 Q. And you did not put those statements that she
21 made, that she's testified to in your report,
22 did you?

23 A. She didn't make them to me. She told me some --
24 there was other reasons that she gave to me why
25 she wasn't going back to work, but that wasn't

1 it.

2 Q. And now, we are talking about this wear and tear
3 degenerative changes on your direct
4 examination. This starts in some people at age
5 35 or 40, doesn't it?

6 A. It may.

7 Q. And by 40 many people have some degree of
8 arthritis?

9 A. Yeah.

10 Q. But most never have any symptoms?

11 A. That's right.

12 Q. And a person can live their entire life and
13 never have a complaint or disability even though
14 they have these degenerative changes in their
15 spine --

16 A. That's possible.

17 Q. -- isn't that possible?

18 And a person with a degenerative condition
19 in her back is probably more easily injured than
20 a healthy person, isn't that true, doctor?

21 A. Yes.

22 Q. Didn't this accident, the motor vehicle accident
23 in June of 1991, aggravate or exacerbate her
24 preexisting condition that you described?

25 A. No, not at all.

Q. It didn't?

2 A. No.

3 Q. It had no -- you're testifying, your testimony
4 today is it had absolutely no affect on her
5 back?

6 A. That's not what you asked.

Q. I asked you whether it aggravated her.

8 A. That's not what I said, it's not what you
9 asked. It didn't aggravate and exacerbate the
10 condition of spinal stenosis and bony overgrowth
11 and degenerative changes in her back, no, it did
12 not.

13 Q. And are you, it, are you saying it had no
14 affect?

15 A. On that condition, that's exactly right, it did
16 not.

17 Q. Doctor, so I'm clear, are you telling us that
18 Ms. Campbell suffered no injuries in this
19 accident?

20 A. No, I didn't say that.

21 Q. What injuries did she suffer?

22 A. I don't know, there was none, when I examined
23 her there was no indication of, that, of any
24 injuries she had suffered in this accident.

25 When I examined her she had the bony overgrowth,

1 which essentially was not the cause, exacerbated
2 or aggravated by this condition, by this
3 accident, and that she had had her surgery,
4 which was for that and not for her accident, and
5 it was obvious exaggeration of symptoms. I
6 don't know what if anything she hurt in this
7 accident, but I can't say that she wasn't hurt
8 initially.

9 Q. So you cannot say that she wasn't hurt?

10 A. I cannot say that she was not hurt initially,
11 but I didn't find any evidence of it when I
12 examined her.

13 Q. And you examined her three years later?

14 A. Oh, yes, that's right.

15 Q. After she's had surgery?

16 A. After she is over, got over what she got hurt in
17 the accident, yes.

18 Q. Now, doctor in your report you said you reviewed
19 a CT scan and a myelogram that was done at
20 University Hospital, correct?

21 A. Yes.

22 Q. And that was what Dr. Hardy ordered before he
23 did the surgery?

24 A. I believe so.

25 Q. And you stated in your report that you found the

1 nerve roots to fill well?

2 A. Uh-huh.

3 Q. Now, I'll turn your attention to that report and
4 I'm showing you the MI -- lumbar myelogram
5 report dated 7-14-92.

6 A. Uh-huh.

7 Q. And --

8 A. Yeah.

9 Q. Would you read what the doctor notes under
10 impressions?

11 A. Enter, interspace narrowing and mild
12 arthrolithesis of L5 on S1. **Also** that at this
13 level there is moderate ventral extradural
14 defect with incomplete filling of S1 nerve root
15 sleeves, moderate ventral extradural at L4-5,
16 mild ventral extradural defect at L3/4.

17 Q. Now, doctor what's the significance of a
18 complete filling -- strike that.

19 What's the significance of an incomplete
20 filling of the nerve root?

21 A. I don't think there is any significance of that,
22 except for the extradural defect, but I don't
23 think -- I think the nerve root is filled very
24 nicely, it's a matter of degree. There is
25 certainly no question that the nerve roots

1 filled.

2 Q. Isn't the significance of an incomplete filling
3 of a nerve root is that there is nerve root
4 impingement?

5 A. Yes. The nerve root may not be completely -- I
6 think it's filled nicely, but if they want to
7 call it incomplete filling, I wouldn't, I
8 wouldn't argue with that. The nerve roots both
9 filled, there are, I don't think there is any
10 question 'about that either.

11 Q. And the incomplete filling would be --

12 A. A matter of degree.

13 Q. -- evidence of a nerve root, one piece of
14 evidence of a nerve root impingement, correct?

15 A. Oh, yes.

16 Q. And what happens when a nerve root is impinged,
17 that produces pain, does it not?

18 A. Not necessarily, but it may.

19 Q. In your report you also say that Dr. Hardy's
20 operative note indicates that no herniated disk
21 was found?

22 A. That's correct.

23 Q. Isn't there a delineation in terms that
24 orthopedic, different orthopedic surgeons use
25 between a frank herniation and a bulge?

1 A. No, I think everybody uses the term
2 synonymously. Some call it bulging, some call
3 it herniations. Dr. Hardy specifically says in
4 his operative note, the note, disk herniations
5 were found.

6 Q. But don't some orthopedic surgeons include in
7 their definition of a herniated disk a bulging
8 disk?

9 A. That is, both of them together, that's right.
10 The two terms are synonymous.

11 Q. And a bulge --

12 A. They both mean the same thing.

13 Q. A bulge is where the, so that the jury can
14 understand, like a jelly doughnut, a disk is
15 like a jelly doughnut, when the jelly is bulging
16 it's just pressing against the side, if there is
17 an actual frank herniation the jelly is coming
18 out of the doughnut?

19 A. That's call an extruded disk.

20 Q. All right.

21 A. Not a frank herniation, an extruded disk. Some
22 people use, most people use the term herniation
23 and bulging synonymously.

24 Q. And some orthopedic surgeons include a bulging
25 disk, one that's pressing on the nerve root,

1 within their definition of a herniated disk?

2 A. Yes.

3 Q. In fact you do, don't you?

4 A. Yeah, I use the two terms synonymously, both
5 mean the same thing, herniation and bulging mean
6 the same thing.

7 Q. So if Dr., if Dr. Hardy and Dr. Smith found that
8 there was a bulging disk that impinged on a
9 nerve root, then you have no criticism that that
10 was a, was a finding that that was a herniated
11 disk?

12 A. Well, I think that you're asking a question
13 about something that didn't exist because Dr.
14 Hardy didn't find a herniated disk or even a
15 bulging disk impingement on a nerve root. He
16 said specifically he did not. And I don't see
17 where Dr. Smith found a bulging disk impinging a
18 nerve root either.

19 MS. TRAPP: We are off the record
20 for a moment.

21 VIDEOTAPE OPERATOR: We are off
22 the record.

23 - - - -

24 (Thereupon, a discussion was had off
25 the record.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- - - -

VIDEOTAPE OPERATOR: Stand by. We
are on the record.

Q. Now, you're saying Dr. Smith and the report of
the lumbar myelogram and CT did not find contact
with the S1 nerve root?

A. No, what you just showed me before was the
myelogram.

Q. All right. Now, you have read both the CT and
the myelogram in preparing for your --

A. And the impression, you want me to read this
impression too or don't you want me to read it?

Q. Uh-huh.

A. Examination of the facet joints, that's the
joints in the back between the bones, and
ligamentous hypertrophy and mild diffuse disk
bulges at each of the lower three lumbar air
spaces associated with mild canal stenosis,
that's narrowing of the canal down through which
the nerves come, at L5-S1 a combination of mild
disk bulge and artholithesis caused by the
bulging disk -- causes the bulging disk to
contact the S1 nerve roots bilaterally as
described above. It didn't say they are, they
are pinched I think was the word you use, it

1 just said bulging disk, the mild bulging disk is
2 contacting the nerve root.

3 Q. Doctor, I did not use the word pinched.

4 A. Oh, I'm sorry.

5 Q. I'm merely asking whether the, Dr. Smith found
6 that there was contact between the bulging disk
7 and the S1 nerve root? And I believe she found
8 that in her report under impressions.

9 A. Oh, the S1 nerve root goes over the disk in
10 everybody, it runs over the disk, everybody has
11 a contact between the nerve roots and the
12 disks. As they come out the back, they run over
13 the nerve root, over the disks, all of them do,
14 at every level.

15 Q. Doctor, you're not sitting here today
16 criticizing the care given by Dr. Hardy as being
17 unnecessary in light of her symptoms and test
18 results, are you?

19 A. I'm sorry, what did we just go over, the care?
20 If you'll ask me specifically what you've got in
21 mind, I will be glad to answer your question.

22 Q. It's --

23 ~ A . Everything blankly, everything he possibly could
24 have done? Or just, are you just going to ask
25 me Specifically and I'll answer you

1 specifically?

2 Q. Doctor, the question is pretty straightforward.

3 A. No, it's pretty broad.

4 Q. You're not here criticizing the care given by
5 Dr. Hardy as being unnecessary, are you?

6 A. I think I, I can't answer your question without
7 it being more specific.

8 Q. You can't answer th'at question?

9 A. Not without you being more specific. If you ask
10 that broad a question, I don't know all of the
11 care Dr. Hardy may have given this patient. So
12 if you'll ask me specifically about specific
13 care, I'll be glad to answer your question.

14 Q. Well, doctor, you've had plenty of opportunity,
15 did you not, to review Dr. Hardy's chart? I
16 mean we are looking at a huge stack of records
17 here.

18 A. That's right.

19 Q. And films that you had to look at and during, as
20 you say, a review of these copious records in
21 order to come up with the report, you had ample
22 opportunity to take a look at Dr. Hardy's
23 records?

24 A. As much as reflected in the University Hospitals
25 records, yes, but I don't know what else he

1 might have done, so I can't give you a blanket
2 agreement with that statement. If you just ask
3 me -- unless you don't want to ask me
4 specifically, I can't answer you.

5 Q. Doctor --

6 MS. TRAPP: Move to strike.

7 Q. I ask you a very specific question, you are not
8 criticizing, are you, the care given by Dr.
9 Hardy as being unnecessary?

10 A. Not as far as I know.

11 Q. All right.

12 VIDEOTAPE OPERATOR: We are off
13 the record.

14 - - - -

15 (Thereupon, a discussion was had off
16 the record.)

17 - - - -

18 VIDEOTAPE OPERATOR: We are on the
19 record.

20 Q. Thank you. Doctor, your histories and exams in
21 preparation for medical/legal reports usually
22 don't take very long, do they?

23 MR. THOMAS: Objection. You can
24 answer the question.

25 A. First of **all**, I don't know what *you* mean by very

1 long; and, secondly, I don't keep track of how
2 long they take. I go in, I do a complete and
3 thorough job and I leave. I don't know how long
4 it takes and I don't know what you mean by very
5 long.

6 Q. Well, you have testified prior to today, doctor,
7 that it doesn't take long when there is nothing
8 wrong with the patient, isn't that correct?

9 A. It doesn't take as long as it does when there
10 might be something wrong, but you're right,
11 that's right.

12 Q. All right.

13 A. It doesn't take long when there is nothing
14 wrong, that's right.

15 Q. You took a long time with Ms. Campbell, didn't
16 you?

17 A. I don't have any idea how long I took.
18 Sometimes a, sometimes a patient is more
19 difficult than others and it's harder to examine
20 them. But I don't have any idea how long it
21 took. I go in, I do a complete and thorough job
22 and I leave.

23 Q. But you did take a long time --

24 A. I don't know how long.

25 Q. You wouldn't disagree with Ms. Campbell's

1 testimony that you spent 45 minutes with her in
2 a history and 20 minutes in exam?

3 MR. THOMAS: Objection. Asked and
4 answered. He testified he didn't
5 remember.

6 A. When you say Ms., I don't know how long --

7 Q. The question was you don't disagree with Ms.
8 Campbell's testimony that you --

9 A. That it took an hour and five minutes?

10 Q. Doctor, let me finish the question. You do not
11 disagree with Ms. Campbell's testimony that it
12 took 45 minutes to do the history and 20 minutes
13 in an exam?

14 A. AS I --

15 MR. THOMAS: Objection.

16 A. As I, what I've testified to this afternoon I'm
17 sure of, I don't know how long it took, but I
18 would be very surprised if it took an hour and
19 five minutes. I think that is an
20 overexaggeration.

21 Q. So you are disagreeing with her?

22 A. No, that's not what I'm saying at all. I said I
23 don't know how long it took. What I've
24 testified to I'm sure of, but it seems to me to
25 be an exaggeration, maybe another exaggeration

1 on the part of Mrs. Campbell, that amount of
2 time.

3 Q. It could have taken that time, correct?

4 A. No, I don't think it did.

5 Q. And the reason that it took that long is that
6 you did find something wrong with her back,
7 regardless of the cause, doctor, whether it's a
8 motor vehicle accident or something else, you
9 found something wrong with her back during that
10 exam?

11 A. It didn't take that long, so I can't answer your
12 question.

13 Q. You found that she had something seriously wrong
14 with her back in the past, didn't you?

15 A. Oh, before the surgery --

16 Q. Uh-huh.

17 A. -- or at the time I saw her?

18 She had the surgery that corrected the
19 degenerative changes that she had before the
20 surgery.

21 Q. So you found something seriously wrong with her
22 back in the past?

23 A. I didn't see her in the past.

24 Q. That's right, you didn't see her in the past.

25 A. So I couldn't have found something seriously

1 wrong with her back in the past.

2 Q. The dispute here, doctor, is it not, the dispute
3 is the cause of her bad back?

4 MR. THOMAS: Objection. You can
5 answer.

6 A. What's the question?

7 Q. The dispute is the cause of her bad back?

8 A. I'm sorry, I don't, I'm lost as to what the
9 question is. You're making a statement.

10 Q. You have a dispute --

11 A. I'm not disputing with anybody. So --

12 Q. Doctor, you obviously are. The dispute here is
13 between you and Dr. Hardy of the cause of her
14 bad back?

15 MR. THOMAS: Objection.

16 A. I'm not disputing with anybody.

17 MR. THOMAS: You can answer.

18 A. The answer to your question is I don't dispute
19 with people.

20 Q. So you agree with Dr. Hardy's finding as to the
21 cause of her bad back?

22 MR. THOMAS: Objection.

23 Q. Doctor, it's pretty clear, either you agree or
24 disagree --

25 A. I have to -- no.

1 Q. -- with that doctor as to the cause of the
2 back. It is a simple question.

3 A. What is Dr. Hardy -- I don't -- what does Dr.
4 Hardy say is the cause **of** her back?

5 Q. The motor vehicle accident aggravated her prior
6 condition.

7 A. No, I don't think that's true.

8 Q. So you're disagreeing with that?

9 A. I'll disagree with that.

10 Q. And you would disagree with Dr. Zahrawi when he
11 makes the same statement?

12 A. Did he make that statement?

13 Q. He has made that statement in court testimony.

14 A. Well, I don't think --

15 MR. THOMAS: Objection. You may
16 answer.

17 A. I don't think that -- it's my opinion that the
18 motor vehicle accident did not aggravate or
19 accelerate the spinal stenosis.

20 Q. And you're agreeing -- you're disagreeing and
21 disputing Dr. Friedman --

22 A. No.

23 Q. -- as to the cause of her bad back and her
24 symptoms?

25 A. I'm not disputing anybody. And if Dr. Friedman

said that the spinal stenosis and bony overgrowth was caused by the motor vehicle accident three or four -- or a week before he saw her, then I certainly would disagree with him.

Q. So once again, doctor, the dispute here is not whether she has or had had a bad back, it's what caused it, isn't that true?

A. I don't know what the disputes are. You, you guys talk about disputing. I'm never involved in any disputes, that's your department. I just examined this woman and I'm giving my testimony as to what I feel is wrong with her, that's all. I'm not disputing with anybody.

Q. And you're saying, your testimony, doctor, is that Dr. Hardy, a board certified neurosurgeon, and Dr. Zahrawi, a board certified orthopedic surgeon, and Dr. Friedman, who's on the staff at Suburban Hospital, are all wrong as to the cause of the symptoms, the onset of those symptoms that Ms. Campbell talked about to you and to those doctors beginning in June of 1991 in an automobile accident?

A. What I have said, I'll say it again so that I can make it perfectly clear. What I have said

or what I'm testifying to, it's my opinion,
based on reasonable medical certainty, that the
3 bony overgrowth and spinal stenosis and
4 degenerative changes in her back were not caused
5 by the automobile accident, were not accelerated
6 by the accident and were not aggravated by the
7 automobile accident.

8 MS. TRAPP: Objection. Move to
9 strike as being unresponsive.

10 Q. It's a very simple question.

11 A. And I gave you the best answer I can.

12 Q. Do you agree, doctor, or disagree with Dr.
13 Hardy, Dr. Zahrawi and Dr. Friedman as to the
14 cause of the onset of the symptoms that
15 necessitated the surgery was the motor vehicle
16 accident in 1991?

17 A. I can't answer that question because I don't
18 know what all these people said.

19 Q. Even though you've had an opportunity to review
20 **all** of these?

21 A. Dr. Friedman doesn't even address spinal
22 stenosis and bony overgrowth.

23 Q. And you didn't put that in your report, did you?

24 A. I wasn't asked to.

25 Q. That you had any disagreement or that you found

1 that there was something lacking in these
2 records when you reviewed them, you didn't feel
3 that that was a concern of yours to put it in
4 your report, but now you're telling us that you
5 don't agree with what you found?

6 A. You asked me the question.

7 Q. And you found that and you didn't see those
8 things in those records?

9 A. I'm just responding to your questions.

10 Q. Isn't that true, doctor?

11 A. I'm responding to your questions, trying to
12 answer them the best I can.

13 Q. Well, doctor, we'll let the jury decide.

14 A. Oh, I'm sure of that. I hope you will.

15 MS. TRAPP: I don't have anything
16 further.

17 MR. THOMAS: I have a couple
18 questions, doctor.

19 - - - -

20 REDIRECT EXAMINATION OF RICHARD S. KAUFMAN, M.D.

21 BY MR. THOMAS:

22 Q. Doctor, you had the opportunity to review the
23 operative notes of Dr. Hardy, is that correct?

24 A. Yes.

25 Q. And upon your review of those operative notes,

1 is it your opinion that they were detailed
2 operative notes?

3 A. Oh, yes, very detailed, very good operative
4 report, description of the procedure and what he
5 found at the time of surgery.

6 Q. All right. And just for point of clarification,
7 doctor, exactly what did Dr. Hardy find when he
8 opened up Mae Campbell in October of 1992?

9 A. He found the bony overgrowth, the closure of the
10 foramen, that is the hole out through which the
11 nerves come, because of the bony overgrowth from
12 the wear and tear type of changes and he
13 specifically said he found no disk herniation.

14 Q. All right. And is it possible for a
15 neurologist, neurosurgeon or orthopedic surgeon
16 to see whether a disk is herniated when the
17 patient is actually opened up and disks are in
18 plain view?

19 MS. TRAPP: Objection. Form of
20 the question.

21
22 looking at them, and that's what he described, I
23 mean, he exposed the nerves, he exposed the
24 disks and he looked at the disks and they were
25 not herniated.

1 Q. All right. And doctor, is the actual -- strike
2 that.

3 What is more accurate, doctor, an MRI test,
4 a myelogram, or the actual viewing of the nerves
5 and the disks that are actually in plain view to
6 the operating surgeon?

7 A. Well, it's, the MRI is just a computerized image
8 which is formed by magnetic fields. A CT scan
9 is just a computerized image formed by multiple
10 x-ray images, they are all just images. They
11 are pretty good and, but they are not perfect,
12 because again all they are are images,
13 abstractions from the various physical shadows
14 that the patient throws.

15 The most accurate way of determining
16 whether pathology does or doesn't exist is to
17 look at the patient and not the images of the
18 patient. And that's what Dr. Hardy did. And
19 regardless of what the MR showed or the CT or
20 the myelogram, he did not find any herniated
21 disks.

22 Q. All right. And, doctor, again for the ladies
23 and gentlemen of the jury, what is spinal
24 stenosis?

25 A. Spinal stenosis is the narrowing of the spinal

1 canal down through which the nerves come. This
2 canal is a bony canal and if there is overgrowth
3 of the bone due to wear and tear and
4 degenerative changes, then that canal gets
5 narrower, and stenosis means a narrowing of the
6 spinal canal.

7 Q. All right. And based upon your review of Mae
8 Campbell's medical records, why was the October
9 1992 surgery performed by Dr. Hardy?

10 MS. TRAPP: Objection.

11 A. For spinal stenosis and bony overgrowth of the
12 foramen.

13 Q. All right. And, doctor, do you have an opinion
14 based upon a reasonable degree of medical
15 certainty, based upon your review of the medical
16 records of Mae Campbell and your examination of
17 Mae Campbell, do you have an opinion based on a
18 reasonable degree of medical certainty as to why
19 the October 1992 surgery was performed by Dr.
20 Hardy?

21 MS. TRAPP: Objection. Beyond the
22 scope again.

23 A. Yes. It's my opinion, based upon reasonable
24 medical certainty, that Dr. Hardy did the
25 surgery for spinal stenosis and bony overgrowth

1 of the foramen.

2 Q. And, doctor, do you have an opinion based upon a
3 reasonable degree of medical certainty as to
4 whether or not the spinal stenosis, which was
5 caused by bony overgrowth of the foramen, was
6 proximately caused by the October 14, 1991 motor
7 vehicle accident?

8 MS. TRAPP: Objection.

9 A. Yes, yes. It's my opinion based on reasonable
10 medical certainty that the bony overgrowth and
11 the spinal stenosis are caused by degeneration
12 and are unrelated to the automobile accident.

13 MR. THOMAS: Thank you, doctor.

14 Nothing further.

15 - - - -

16 RECROSS-EXAMINATION OF RICHARD S. KAUFMAN, M.D.

17 BY MS. TRAPP:

18 Q. Doctor, I just have one other question.

19 A. Certainly.

20 Q. Are you disagreeing with Dr. Hardy's statement
21 that the motor vehicle accident of June of 1991
22 aggravated her preexisting back condition?

23 A. Yes.

24 Q. That's a dispute that you have?

25 A. **No**, it's not a dispute, I disagree with him

1 though.

2 Q. And you disagree with Dr. Zahrawi when he makes
3 a similar statement concerning the aggravation
4 of the motor vehicle -- aggravation of her
5 preexisting condition caused by the motor
6 vehicle accident of June of 1991?

7 A. Yes, I would disagree with Dr. Zahrawi if he
8 made that statement.

9 Q. And you're disagreeing with Dr. Friedman when he
10 makes a similar statement concerning the
11 aggravation of a preexisting back condition
12 brought on by a motor vehicle accident of June
13 of 1991?

14 A. I'm not aware that Dr. Friedman made that
15 statement, but if he did, I would disagree with
16 him.

17 MS. TRAPP: Thank you. I have
18 nothing further.

19 MR. THOMAS: No further questions.

20 THE WITNESS: I waive the
21 reviewing and I waive signing.

22 VIDEOTAPE OPERATOR: Does counsel
23 waive filing of the tape?

24 MS. TRAPP: Yes, sir.

25 MR. THOMAS: Yes.

1 VIDEOTAPE OPERATOR: We are off
2 the record.

3

4

RICHARD KAUFMAN, M.D.

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Colleen M. Malone, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named RICHARD KAUFMAN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 __.

Colleen M. Malone, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 3rd, 1997

W I T N E S S I N D E X

2		<u>PAGE</u>
3	DIRECT EXAMINATION	
	RICHARD S. KAUFMAN. M.D.	
4	BY MR. THOMAS.....	3
5	CROSS-EXAMINATION	
	RICHARD S. KAUFMAN. M.D.	
6	BY MS. TRAPP.....	31
7	REDIRECT EXAMINATION	
	RICHARD S. KAUFMAN. M.D.	
8	BY MR. THOMAS.....	76
9	RECROSS-EXAMINATION	
	RICHARD S. KAUFMAN. M.D.	
10	BY MS. TRAPP.....	80

E X H I B I T I N D E X

12	<u>EXHIBIT</u>	<u>MARKED</u>
13	Plaintiff's Exhibit A.....	38

O B J E C T I O N I N D E X

15	<u>OBJECTION BY</u>	<u>PAGE</u>
16	MS. TRAPP:	12
	MS. TRAPP:	12
17	MS. TRAPP:	12
	MS. TRAPP:	12
18	MS. TRAPP:	25
	MS. TRAPP:	25
19	MS. TRAPP:	26
	MS. TRAPP:	28
20	MS. TRAPP:	28
	MS. TRAPP:	28
21	MS. TRAPP:	29
	MS. TRAPP:	29
22	MS. TRAPP:	30
	MS. TRAPP:	30
23	MS. TRAPP:	30
	MS. TRAPP:	30
24	MR. THOMAS:	34
	MR. THOMAS:	41
25	MR. THOMAS:	42
	MS. TRAPP:	48

1	MR. THOMAS :	48
	MS. TRAPP :	50
2	MS. TRAPP :	54
	MS. TRAPP :	68
3	MR. THOMAS :	68
	MR. THOMAS :	70
4	MR. THOMAS :	70
	MR. THOMAS :	72
5	MR. , THOMAS :	72
	MR. THOMAS :	72
6	MR. THOMAS :	73
	MS. TRAPP :	75
7	MS. TRAPP :	77
	MS. TRAPP :	79
8	MS. TRAPP :	79
	MS. TRAPP :	80
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		