

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

THOMAS S. ORTMAN, et al.,

Plaintiffs,

-vs-

ROBERT ALBERHASKY, M.D.,
et al.,

Defendants.

CASE NO. 317279

JUDGE CHRISTOPHER BOYKO

Doe, 214

TELEPHONIC DEPOSITION OF MITCHELL C. KAYE, M.D.

Scottsdale, Arizona
December 6, 1997
10:05 o'clock a.m.

WHITE & ASSOCIATES

CERTIFIED COURT REPORTERS

932 South Stapley

Mesa, Arizona 85204

464-1035

PREPARED FOR: MR. JACK LANDSKRONER

BY: Christopher J. White

COPY

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 1

Page
IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO

THOMAS S. ORTMAN, et al.,) CASE NO. 317279
Plaintiffs,) JUDGE CHRISTOPHER BOYKO
vs.)
ROBERT ALBERHASKY, M.D.,)
et al.,)
Defendants.)

TELEPHONIC DEPOSITION OF MITCHELL C. KAYE, M.D.

Scottsdale, Arizona
December 6, 1997
10:05 o'clock a.m.

Page 3

TELEPHONIC DEPOSITION OF MITCHELL C. KAYE, M.D.

Taken at 10:05 o'clock a.m., December 6, 1997, in the Executive Conference Room, of the Scottsdale Plaza Hotel, 7200 N. Scottsdale Road, Scottsdale, Arizona, before Christopher J. White, a Notary Public in and for the County of Maricopa, State of Arizona, pursuant to the rules of Civil Procedure,

The Plaintiffs were represented by their attorneys: The Landskroner Law Firm, Ltd., by Mr. Jack Landskroner.

The Defendants were represented telephonically by their attorneys: Jacobson, Maynard, Tuschman & Kalur, by Ms. Marilyn Miller Crisafi.

BE IT REMEMBERED that the witness does not waive the right to read and sign the deposition, and that notice of filing and other formalities required by law for the taking and returning of the said deposition are waived.

Page 2

INDEX

WITNESS

Kaye, Mitchell C.

EXAMINATION

PAGE

By Ms. Crisafi

4-93

By Mr. Landskroner

(no examination)

EXHIBITS

Exhibit No. 1 (report)

38

Page 4

Scottsdale, Arizona
December 6, 1997
10:05 o'clock a.m.

MITCHELL C. KAYE, M.D.

called as a witness herein, having been first duly sworn, was examined and testified as follows:

EXAMINATION

BY MS. CRISAFI:

Q Dr. Kaye, my name is Marilyn Miller Crisafi. Ie just met briefly a few moments ago when I called in. I'm the counsel for defendants Arthur Basa, M.D., who is the urologist; and a pathologist, Robert Alberhasky.

I'm going to ask you a few questions today and ask that you answer them verbally, because I can't see, obviously, nods of the head or shakes of the head or hand signals.

Let me ask you if you have had your deposition taken before?

A Yes.

Q Okay. Just briefly, although you have gone through the process, I want to let you know that

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 2

Page 5

1 if I ask you a question and you don't understand my
2 question, I'm going to ask you to stop me and either
3 ask me to rephrase it or tell me that you don't
4 understand. Okay?
5 A That's fine.
6 Q Okay, If you give me an answer to my
7 question, I'm going to assume that you understood my
8 question, and the answer that you give me will be taken
9 down as your testimony.
10 Does that seem fair?
11 A That is fair.
12 Q Okay. Will you give me your full name?
13 A Mitchell Craig Kaye.
14 Q Okay. Your professional address?
15 A 7331 East Osborn Avenue, Scottsdale,
16 Arizona.
17 Q How long have you been at that address?
18 A Since July 15th.
19 Q And previous to that, were you at 8007
20 Brant Court in Fairfax Station, Virginia?
21 A That was my home address, yes.
22 Q You were with Andrews Air Force Base?
23 A With the U.S. Air Force, yes.
24 Q Why did you leave the U.S. Air Force and
25 go to Scottsdale, Arizona?

Page 6

1 A My time commitment with the Air Force was
2 up, and it was always my intention to go into practice.
3 Q Okay. Why Arizona?
4 A Mainly because you have snow in Cleveland,
5 and I'm wearing a shirt without a jacket right now.
6 Q All right. I notice you did your medical
7 training at the Cleveland Clinic Foundation; is that
8 correct?
9 A I did my residency at the Cleveland
10 Clinic, correct.
11 Q When you finished the clinic in 1993, when
12 you were chief resident of the department of urology,
13 what did you do between 1993 and your next position?
14 A I went straight from residency into the
15 Air Force to pay back my time commitment.
16 Q Okay, So is it fair to say when you were
17 at Georgetown University, it was through the Air Force?
18 A When I was at Georgetown University, it
19 was being paid for by a health profession's
20 scholarship.
21 Q Sponsored by the United States Air Force?
22 A Correct. However, I was not on active
23 duty.
24 Q Okay. So between 1993 and 1997, you were
25 with Andrews Air Force -- at Andrews Air Force Base

Page 7

1 paying back your time; is that accurate?
2 A That is accurate.
3 Q Did you work anywhere between leaving the
4 Air Force base and going to Arizona?
5 A No.
6 Q Was there ever any gaps in your medical
7 schooling or your internship or residency?
8 A No.
9 Q Are you still currently licensed in
10 Virginia?
11 A Yes.
12 Q Are you still currently licensed in Ohio?
13 A Yes.
14 Q And are you licensed in Arizona?
15 A Yes.
16 Q Any other states in which you're licensed?
17 A No.
18 Q Did you take your urology boards more than
19 once?
20 A No.
21 Q Are you board certified in any other
22 specialty?
23 A No.
24 Q You have described under your major
25 presentations one involving the squamous cell carcinoma

Page 8

1 of the bladder in a patient on intermittent
2 self-catheterization,
3 Any discussion in that article about
4 seminoma or embryonal cell cancer?
5 A No.
6 Q There's an article you coauthored. It
7 looks like Dr. Cosgrove and Dr. Novick.
8 Is that Dr. Cosgrove at the clinic?
9 A Yes.
10 Q "Retroperitoneal Tumors," Any discussions
11 in that article about seminoma or embryonal cancer?
12 A No.
13 Q Under references, number three indicates
14 current therapy in genitourinary surgery. I don't know
15 if that's a chapter in a book. It was coauthored with
16 a Dr. Klein and a Dr. Malacoplakia.
17 A It is on malacoplakia. It is a book
18 chapter on malacoplakia.
19 There is no mention of seminoma.
20 Q I'm sorry. Would you please repeat your
21 answer?
22 A No mention of seminoma in that book
23 chapter.
24 Q Thank you. Doctor, what hospitals do you
25 currently have privileges at?

Page 9

1 A Scottsdale Memorial Hospital, Osborn;
2 Scottsdale Memorial Hospital, North; the Indian
3 Hospital; Phoenix Indian Medical Center; and I have --
4 I'm thinking of the word -- temporary or courtesy
5 privileges at -- what is the name of the downtown one,
6 the big one? St. Joseph's Hospital.

7 Q Scottsdale?

8 A That's in Phoenix.

9 Q Phoenix?

10 A Yes.

11 Q When you were in Fairfax Station, can you
12 explain to me whether you only worked on the Air Force
13 base or whether you had hospital privileges there, too?

14 A The Fairfax Station is my home address.

15 Q I'm sorry, Doctor. When you were in the
16 Air Force, tell me what hospitals you worked at.

17 A I worked at Malcom Grove Medical Center,
18 which is the name of the hospital on Andrews Air Force
19 Base. I also performed certain procedures at Walter
20 Reed Medical Center and Bethesda Medical Center if we
21 did not have the capabilities at Andrews.

22 Q Give me an example of the majority of the
23 types of urologic surgery that you did while in the Air
24 Force and in Scottsdale.

25 A At present I perform a full range of

Page 11

1 germ cell tumors, the exact percentage of which I
2 cannot recall right now.

3 Q Which is more common in your practice?

4 A I have seen them fairly equally, I cannot
5 say whether one is more common than the other and be
6 precisely sure.

7 Q Okay. In a population of 30- to
8 40-year-old males, which finding is more common in your
9 practice; seminoma or embryonal cells?

10 A Again, the most -- probably the most
11 common is a mixed germ cell tumor. However, seminoma
12 is also a very common finding as the..

13 Q As what?

14 A As a most common finding as well. It is
15 close. Very neck and neck.

16 Q Okay. Doctor, what percentage of your
17 clinical time do you spend doing surgery?

18 A I devote my practice to -- is 100 percent
19 clinically oriented. How much time I spend on the --
20 in the operating room varies from day-to-day, but I
21 potentially operate every day.

22 Q Do you spend 25 percent of your time in
23 the operating room per week?

24 A At least.

25 Q Thirty percent?

Page 10

1 urologic procedures, including urologic oncology,
2 female urology, infertility, basic pediatrics urology.

3 Q What about surgical procedures?

4 A I'm referring to both office-based and
5 surgical practice in all those areas.

6 Q How many orchiectomies have you done in
7 your practice?

8 A I don't recall, Several.

9 Q More than 10?

10 A Yes,

11 Q More than 100?

12 A I cannot answer that.

13 Orchiectomies are done for several
14 reasons.

15 Q Before we get into those, let's talk
16 specifically about orchiectomies after the finding of a
17 hard mass in the testicles.

18 After you perform an orchiectomy with that
19 finding and send a specimen of the mass to a
20 pathologist, what percentage of the time in your
21 practice does that copy? back seminoma, and what
22 percentage of the time does that copy? back a mixed cell
23 or embryonal cancer?

24 A I do not recall specifically. However, I
25 have treated both patients with pure seminoma and mixed

Page 12

1 A It can be up to 50 percent, approximately.

2 Q Okay. Doctor, how did you first get
3 involved in this case?

4 A I received a phone call from Mr.
5 Landskroner who asked me if I would be interested in
6 reviewing some records for him.

7 Q Did he tell you anything about the case at
8 the time?

9 A He basically just told me that it was a
10 case involving a patient with testicular cancer. He
11 wanted me to look at whether or not the patient was
12 treated properly and followed properly.

13 Q Did he tell you what type of testicular
14 cancer the patient had?

15 A Initially, in our initial conversation, I
16 do not recall.

17 Q What materials were you sent?

18 A I was sent a couple of binders, which
19 contained material that included depositions from some
20 of the physicians involved, hospital records from Mr.
21 Ortman's treatment, as well some x-ray reports and lab
22 data.

23 Q I need to know specifically what you
24 reviewed before writing your June 19th, 1997 report.

25 A I reviewed the binders that I just

Page 13

1 mentioned with the information that was provided to me.

2 **Q Doctor, I need more specifics. I need to**
3 **know what records you looked at,**

4 **A** Mr. Landskroner sent me records that
5 included the depositions of the physicians involved,
6 Dr. Basa, for example.

7 **Q Okay.**

8 **A** It included his notations from his office.
9 It included the reports of other consultants involved
10 in the case, including the physicians at the Cleveland
11 Clinic, at the University Hospital, and associated
12 results of lab tests and x-rays that they had ordered
13 in reaching their conclusions.

14 **Q Did you review the deposition of Dr.**
15 **Alberhasky?**

16 **A** I believe that I did, yes,

17 **Q Did you review the deposition of Dr. Peter**
18 **Lay before writing your report?**

19 **A** I do not recall specifically,

20 **Q Did you review records from the surgery**
21 **center?**

22 **A** I believe I did, yes.

23 **Q Did you review Dr. Basa's office chart?**

24 **A** Yes.

25 **Q Did you review the records from West Side**

Page 15

1 the addition of Dr. Alberhasky's deposition, Dr. Basa's
2 deposition, the slides which Dr. Case has had a chance
3 to look at. I think some x-rays as well,

4 And that composes the same materials that
5 you had that were in the exhibit that was provided to
6 you with the exception of the UH records that were
7 supplemented, and the complete Dr. Lay record, which
8 was also supplemented, because we didn't have the
9 complete record originally.

10 **MS. CRISAFI:** So based on your representation,
11 the package I got last night from your office, with the
12 exception of the supplementations you have made, is the
13 copy that was sent to the doctor and upon which he
14 based his report.

15 I'll accept that. Is that what you're
16 saying?

17 **MR. LANDSKRONER:** That's correct.

18 **MS. CRISAFI:** Okay.

19 **MR. LANDSKRONER:** I think, also, I'm not sure
20 if I provided you any request for production of
21 documents, or a copy of the records that I had, but
22 they would have been the same as well.

23 **MS. CRISAFI:** The records that you have from
24 all the treaters?

25 **MR. LANDSKRONER:** Well, with the exception of

Page 14

1 **Imaging and Oncology Center?**

2 **A** I believe so.

3 **Q Doctor, do you have in front of you the**
4 **materials that you reviewed prior to writing the**
5 **report?**

6 **A** There are two binders that Mr. Landskroner
7 has here that appear to be similar to what I had at
8 that time, yes.

9 **Q And to your knowledge, are those complete**
10 **records from different physicians, or do you know if**
11 **those are parts of those records?**

12 **A** I have no way of knowing whether or not
13 these are complete or not. This is just what was
14 provided to me.

15 **MS. CRISAFI:** Jack, I need to take a look at
16 those and see exactly what he looked at and whether
17 those are complete and whether those have been itemized
18 out in some way.

19 I need you to either mark those or bring
20 them back with you.

21 **MR. LANDSKRONER:** Marilyn, you have the
22 exhibits that were provided to you, with the exception
23 of the University Hospital records, that were
24 supplemented, including the materials that were -- they
25 composed the materials that were sent to Dr. Kaye with

Page 16

1 the supplements that were made.

2 **Q BY MS. CRISAFI:** All right. Doctor, what
3 did you review in preparation for your deposition
4 today?

5 **A** I reviewed the records very briefly, and
6 that was it.

7 **Q Did you review the slides?**

8 **A** I -- the last time I looked at the slides
9 was at least several months ago.

10 **Q What actual x-rays did you look at?**

11 **A** I do not recall, but I believe it was the
12 CT scan.

13 **MR. LANDSKRONER:** Marilyn, incidentally, I
14 have those in my office, if you need to see them.

15 **MS. CRISAFI:** Those would be useful to Dr.
16 Semanovich (phonetic) and Dr. Green's deposition, yes,
17 thank you.

18 Doctor, would that be the January 24th,
19 1996 CT scan or the June 23rd, 1995 CT scan?

20 **THE WITNESS:** I do not recall.

21 **MR. LANDSKRONER:** I think, for the record,
22 Marilyn, I think I provided both, but they would be in
23 the packet I have in my office.

24 **Q BY MS. CRISAFI:** Okay. Except for the
25 records you just mentioned, anything else that you

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 5

Page 17

1 reviewed before your deposition today?
 2 A Just this morning I reviewed my letter
 3 that I submitted,
 4 MR. LANDSKRONER: Also, Marilyn, for the
 5 record, Dr. Semanovich's report,
 6 Q BY MS. CRISAFI: Okay. Did you review Dr.
 7 Green's report?
 8 A Not that I'm aware of.
 9 Q Have you seen the report of Donald Sweet,
 10 M.D. (phonetic)?
 11 A I do not believe so.
 12 Q Did you ever have an opportunity to talk
 13 with Mr. Landskroner this morning?
 14 A Briefly.
 15 Q How long was that?
 16 A Approximately 15 minutes.
 17 Q Did you have an opportunity to meet with
 18 Mr. Landskroner yesterday?
 19 A Briefly, yes.
 20 Q How long is briefly?
 21 A About an hour.
 22 Q Okay. Prior to yesterday's meeting, did
 23 you have an opportunity to discuss the case with Mr.
 24 Landskroner by telephone?
 25 A Yes.

Page 19

1 that patient to a radiation oncologist?
 2 A That is dependent upon what the staging of
 3 the disease is.
 4 Q Why don't you explain that for me.
 5 A If the patient has -- is staged after the
 6 initial orchiectomy, and is felt to be a candidate for
 7 prophylactic radiation, then that patient would be
 8 referred to the appropriate physician.
 9 If that patient has a more advanced
 10 disease, such that radiation would not be an
 11 appropriate therapy, they would then be referred to the
 12 appropriate physician,
 13 Q Okay. But either way, Doctor, once you
 14 make the diagnosis, you refer the patient either to a
 15 radiation oncologist or another physician?
 16 A Yes. However, I still maintain my role in
 17 their care.
 18 Q Okay. But not as far as treating the
 19 cancer with either radiation or chemotherapy; is that
 20 accurate?
 21 A I do not deliver radiation, and I do not
 22 provide all forms of chemotherapy,
 23 Q Okay. What forms of chemotherapy do you
 24 provide?
 25 A Related to testicular cancer, I do not

Page 18

1 Q When was that?
 2 A It was about the time that he had
 3 requested I review the records and submit in writing a
 4 brief report,
 5 Q Okay. Fair to say this is sometime after
 6 you got the records and before you wrote the report?
 7 A That is correct.
 8 Q About how long did you spend on the phone
 9 with Mr. Landskroner at that time?
 10 A I do not know for sure.
 11 Q Did Mr. Landskroner outline what he wanted
 12 the findings to show for you?
 13 MR. LANDSKRONER: Objection. Go ahead,
 14 THE WITNESS: No. He asked me to review the
 15 case and form my own opinions based on what was within
 16 the records.
 17 Q BY MS. CRISAFI: Doctor, in your practice, I
 18 assume you have had an opportunity to make a diagnosis
 19 of seminoma?
 20 A That is correct,
 21 Q Is that also true of embryonal cell
 22 cancer?
 23 A That is correct,
 24 Q Doctor, after you have made a diagnosis of
 25 seminoma, do you treat the patient, or do you refer

Page 20

1 provide any chemotherapy in my practice.
 2 Q Okay, When you said that depending on the
 3 stage, if it's more advanced, I would do this; if less
 4 advanced, I would do that. How do you -- with
 5 testicular cancer, how do you stage it?
 6 A You look at the initial pathology from the
 7 radical orchiectomy specimen, then the patient is
 8 staged, typically with evaluation of the abdomen,
 9 pelvis, and chest initially, and other tests if
 10 indicated.
 11 And, also, you need blood testing, which
 12 are very important for following the patient, both
 13 pre-orchiectomy and throughout the treatment course.
 14 Q Is that true with seminoma and embryonal
 15 cells?
 16 A It's true with all types of testicular
 17 cancer.
 18 Q You would look at the pathology. You
 19 would evaluate the abdomen, pelvis, and chest, and
 20 possibly, and -- not possibly -- and do blood testing;
 21 is that correct?
 22 A That is correct. And based on the
 23 findings, other tests may be indicated.
 24 Q All right. When you say, "Evaluate the
 25 abdomen, pelvis and chest," do you mean by x-ray or CT

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 6

Page 21

1 scan or palpation?

2 A Typically with CT scanning, but a physical
3 exam is always part of the initial evaluation. But CT
4 scanning is the most readily accessible means for doing
5 that.

6 Q Doctor, do you use radical -- you do lymph
7 node dissection as a means of staging?

8 A When indicated.

9 Q When you -- I assume you have had an
10 opportunity to diagnose patients with embryonal cell
11 cancer?

12 A Yes.

13 Q When you diagnose a patient with embryonal
14 cell cancer, do you treat that patient or refer him
15 out?

16 A I treat that patient.

17 Q Okay. And how?

18 A Again, how they are treated depends upon
19 the stage of their disease.

20 Q Would that follow your previous answer
21 that it may be radiation oncology, and it may be
22 chemotherapy?

23 A That is incorrect. Under no circumstances
24 is there a role for radiation in the treatment of
25 embryonal carcinoma.

Page 22

1 Q To whom would you refer out the patient
2 that you diagnosed with embryonal cell cancer?

3 A Again, it depends upon the stage. It may
4 not require a referral, at all. It's something that I
5 can, oftentimes, depending on the stage, I'm able to
6 handle myself.

7 Q With what therapies?

8 A In cases of a Stage I embryonal, a
9 retroperitoneal lymph node dissection can be performed.
10 This is done by a urologist such as myself.

11 Q Okay. And that's a treatment therapy you
12 would do?

13 A That is correct.

14 Q And in other cases?

15 A If there were the need, based on the
16 staging, for chemotherapy, I would then refer the
17 patient to an oncologist for the provision of
18 chemotherapy.

19 Q Okay. In this case there was no
20 retroperitoneal lymph node dissection, was there?

21 A No, there was not.

22 Q Okay. Doctor, what percentage of the
23 patients whom you have referred to chemotherapy
24 physicians, when you have diagnosed those patients with
25 embryonal cell cancer, have four courses of

Page 23

1 chemotherapy treatments?

2 A I can't answer that specifically because
3 this needs to be qualified based on the stage.

4 Q How many patients in Stage II-A that have
5 been referred out for chemotherapy are treated with
6 four cycles of chemotherapy?

7 MR. LANDSKRONER: Objection.

8 THE WITNESS: If there is a diagnosis of Stage
9 II-A, this person, in my hands, would first be treated
10 with a retroperitoneal lymph node dissection. If this
11 were confirmed to be pathologically a II-A embryonal
12 testicular carcinoma, then zero percent of these
13 patients would receive four cycles of chemotherapy.

14 Q BY MS. CRISAFI: Instead they would get a
15 lymph node dissection; is that correct?

16 A As I said, they would get a lymph node
17 dissection. And you asked me what percentage of II-A
18 patients would get four cycles.

19 And I said, in my hands, these patients
20 would receive a retroperitoneal lymph node dissection,
21 and zero percent would receive four cycles of
22 chemotherapy.

23 Q Thank you. Doctor, I assume that you have
24 looked at the initial notes from a Dr. Cindy Connell
25 (phonetic) and University Hospital records after Mr.

Page 24

1 Ortman was referred there for care; is that true?

2 A Yes.

3 Q Dr. Connell doesn't do a staging based on
4 retroperitoneal lymph node dissection, does she?

5 A A retroperitoneal lymph node dissection
6 was not performed on Mr. Ortman.

7 Q So we don't know, based on that, what
8 stage he was when he presented, do we?

9 A We have a clinical stage.

10 Q And that is what?

11 A I need to review the CT scan, but it is a
12 bulky Stage II to the best of my recollection.

13 Q If you would tell me, in your previous
14 testimony, a person with a bulky Stage II tumor with
15 embryonal cell cancer receives, in your experience, how
16 many courses of chemotherapy?

17 MR. LANDSKRONER: Objection. Do you mean
18 after a six month delay in diagnosis, or are you
19 talking about Mr. Ortman's case?

20 MS. CRISAFI: I'm talking about in his
21 practice. When he has a patient who is presented with
22 a bulky Stage II tumor, that with no radical -- where
23 no retroperitoneal dissection had been performed, what
24 percentage of those patients are disease free after
25 four cycles of therapy?

DEPOSITION OF MITCHELL C. KAYE, M.D.

SWEET 7

Page 25

MR. LANDSKRONER: With no other factors in consideration. Go ahead and answer.

THE WITNESS: In somebody who has bulky Stage II disease, these people are followed with each cycle. Some may receive three cycles; some may receive four.

Again, it is difficult to answer that based on the general description of bulky. Each case needs to be treated individually and followed very closely to minimize the toxicity of the chemotherapy and still ensure appropriate remission.

Q BY MS. CRISAFI: Well, Doctor, let me ask you this before we go any further into this area.

Are you qualified to comment on chemotherapy treatments and appropriate numbers of chemotherapy treatments?

A From a urologist's standpoint,

Q Doctor, do you consult with chemotherapy doctors in deciding to go forward with additional chemotherapy?

A Certainly I play a role in the care of all my patients and rely on the expertise of the oncologists, as well, in determining what is the best treatment for each patient.

Q But ultimately it's the decision of the oncologist on how many chemotherapy treatments are

Page 27

You need to be specific to ask me that question.

Q Okay. In the paracaval region.

A Okay. So we have a patient with one 2.5 centimeter paracaval lymph node, and that is the only evidence of disease. Is that the correct scenario you're providing to me?

Q The status, post-orchietomy with a finding of embryonal cell cancer. This patient also has several additional smaller soft tissue densities in the paracaval region. And, yes, that's all the evidence that you have.

A I consider this patient to have non-bulky Stage II disease. I would proceed with a retroperitoneal lymph node dissection, and if this were confirmed to be metastatic disease, consider adjuvant chemotherapy.

Q Doctor, you did not have the benefit of a lymph node dissection.

Let me ask you first of all, have you gone on to refer patients to oncologists without that information, knowing only the information that I have just put before you?

A Have -- can you please repeat that question?

Page 26

necessary; is that true?

A Essentially that is true.

Q Okay. ~~Will~~ you be testifying at the time of trial as to how many rounds of chemotherapy Mr. Ortman would have needed?

A From a urologist's standpoint, based on what is -- what questions I am asked. I would certainly have input from my perspective, yes.

Q And would you tell me your qualifications for commenting on the necessary chemotherapy once a patient has been referred to an oncologist?

A As a urologist, I routinely play a role in the management of urologic -- in terms of urologic malignancies. So from my training as a urologist, I am aware of what is reasonable, acceptable treatment for urologic malignancies.

Q Doctor, in your experience with a patient who presents with a 2.5 centimeter soft tissue mass and a diagnosis of embryonal cell cancer, in your experience, when you have referred that patient to an oncologist for chemotherapy, what percentage of those patients are disease free after two cycles of BEP chemotherapy, if that's the chemotherapy prescribed?

A Can you please clarify for me? When you're saying a 2.5 centimeter mass, where is the mass?

Page 28

Q Have you referred a patient to an oncologist for chemotherapy when that patient has findings of a two to three centimeter mass in the paracaval region, status, post-orchietomy for embryonal cell cancer?

A I have not referred them on initially, because I tend to -- it has been my practice to operate on these patients first, because I'm oftentimes able to do a nerve sparing retroperitoneal lymph node dissection.

Q Doctor, is it fair to say then, you have not had a situation where you have referred a patient to an oncologist for treatment where there has not also been -- let me qualify that.

Status post-orchietomy with findings of embryonal cancer. You have not had an opportunity to refer those patients to an oncologist without having first done a lymph node dissection; is that true?

MR. LANDSKRONER: Let me just object and say, again, qualifying that those are the only conditions, not taking into consideration any previous cancer treatment.

MS. CRISAFI: If you want to make an objection, make an objection, and I'll note your objection. But I'm going to object to the ongoing

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 8

Page 29

speaking objections.

MR. LANDSKRONER: Do you want to qualify the question so it's clear?

If you can answer that, Dr. Kaye, go ahead.

THE WITNESS: Based on what you have asked me, I have referred patients to oncologists first, but these are patients that have evidence of bulky metastatic disease.

Q BY MS. CRISAFI: You have done so without first performing a lymph node dissection?

A In patients with bulky metastatic disease, and lymph node dissection is not indicated prior to chemotherapy.

Q Okay. In those patients, what percentage of those patients are disease free after two cycles of chemotherapy?

A Most of those patients require at least three cycles of chemotherapy. Some may require four. Some may --

Q Doctor, let me be specific in my question. What percentage of the patients in that scenario have you referred to oncologists who are disease free after two cycles of chemotherapy?

Can you answer that question?

Page 31

Q How many treatments?

A In general, most patients with non-bulky disease are not given chemotherapy first, in my hands, They undergo retroperitoneal lymph node dissection first.

You're comparing an apple to an orange.

Q Okay. You have the non-bulky disease, and I think I hear you saying you do the retroperitoneal lymph node dissection as a treatment first; is that correct?

A That is correct. And you cannot confuse that with the treatment of bulky disease and try to confuse the issue.

Q When you have patients with non-bulky disease whom you have treated with a lymph node dissection, have those patients then gone on to need chemotherapy?

A In people that have pathologically confirmed disease in the retroperitoneum that is non-bulky, I have sent them on to receive adjuvant chemotherapy. Generally, this has never consisted of more than two cycles.

Q Okay, thank you, Doctor.

Are you critical of Dr. Connell for not performing a lymph node dissection when Mr. Ortman

Page 30

MR. LANDSKRONER: Objection. Go ahead and answer, Doctor, if you can.

THE WITNESS: Based on the way you have asked me, I cannot give you a percentage.

Q BY MS. CRISAFI: Why is that?

A At this moment I do not recall.

Q Have you had any patients with that presentation who have become disease free after two cycles of BEP chemotherapy?

MR. LANDSKRONER: Objection. Go ahead.

THE WITNESS: Can you please clarify right now, so I know, are you talking about patients with non-bulky retroperitoneal disease or bulky retroperitoneal disease?

MS. CRISAFI: Non-bulky.

THE WITNESS: Okay. I think the last question was answered based on, I was talking about bulky disease.

MS. CRISAFI: Okay.

THE WITNESS: In non-bulky disease, oftentimes if the patient is properly monitored you can see complete melting of the retroperitoneal in embryonal.

Q BY MS. CRISAFI: Melting meaning what?

A Melting meaning shrinking of the retroperitoneal masses with chemotherapy.

Page 32

presented to her on January of 1996?

A No, because at the time she saw the patient, he had got -- had follow-up for an extended period of time and presented with bulky disease.

Q Okay. Your previous testimony, I understood, was that your understanding was that Mr. Ortman was a non-bulky Stage II disease at the time he had the 2.5 centimeter mass.

Did I confuse that?

MR. LANDSKRONER: Objection. Go ahead,

THE WITNESS: What I said earlier, I -- at the time -- I need to review the CT scan at the time he re-presented to see the extent of the disease he re-presented with in January.

I do not recall the exact measurements of his recurrent disease.

Q BY MS. CRISAFI: All right, Doctor, if he presented with a 2.5 centimeter soft tissue mass, you would agree that's a non-bulky Stage II disease, wouldn't you?

A That is correct.

Q Okay. I want to step back for a minute and ask you to give me a definition of your understanding of standard of care.

A My understanding of standard of care is

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 9

Page 33

1 what most -- if you're talking about physicians, what
2 most physicians would do in the same situation based on
3 their -- based on the level of training.

4 Q Based on their level of training?

5 A No, based on the -- for example, a
6 standard of care for urologists would be to do what
7 most other board certified urologists would do in that
8 specific situation.

9 Q Okay, Given the same set of
10 circumstances, you would agree that two or three
11 urologists may be presented with those circumstances
12 and may each arrive at a slightly different course of
13 treatment, and each would be within the standard of
14 care; wouldn't that be true?

15 A In generalities there are often different
16 ways of approaching the same problem. That is correct,
17 but that's a general statement,

18 Q Okay. Also, that general statement that a
19 circumstance may be treated in more than one way is
20 consistent with the idea in medicine that there is more
21 than one school of thought about how to approach a
22 problem; is that true?

23 A That is correct,

24 Q For example, one urologist may choose to
25 follow-up a patient slightly differently from another

Page 35

1 1995?

2 A No, ma'am,

3 Q Would you agree that based on the
4 pathologists informing him the patient had seminoma,
5 that it was proper to refer that patient to a radiation
6 oncologist?

7 A If the diagnosis were confirmed to be
8 seminoma, then prophylactic radiation and referral to a
9 radiation oncologist is appropriate.

10 Q What do you mean, "If the diagnosis were
11 confirmed"?

12 A If, after reviewing the slides -- I often
13 review them myself, as well -- then the patient would
14 be referred to a radiation oncologist.

15 Q Doctor, as a urologist you have a right to
16 rely on the findings of a pathologist, don't you?

17 A That is correct.

18 Q The standard of care doesn't require the
19 urologist to go behind the pathologist and re-review
20 the slides, does it?

21 A That is correct. However, board
22 certification of urologists includes a basic
23 proficiency in pathology,

24 Q Okay, So you have the capability to read
25 the slides, but the standard of care does not demand

Page 34

1 urologist; is that true?

2 A That is correct.

3 Q Would you agree that a doctor has to
4 consider a constellation of things such as prior
5 history, symptoms, and response to current treatment,
6 when he considers follow-up for a patient?

7 A I mean, that's a very general statement.
8 Can you be more specific?

9 Q Would you agree that a doctor has to
10 consider a variety of factors when he is planning
11 follow-up care for his patient, including his past
12 history, his current diagnosis, and his current
13 response to treatment?

14 A That is correct.

15 Q And each patient's management, based on
16 those things, might be a little different?

17 A That is potentially correct,

18 Q Doctor, are you going to have any
19 criticism of Dr. Basa and his surgical care and
20 treatment of Mr. Ortman on May 5th, 1995?

21 A Was that the date of the orchiectomy?

22 Q Let me look at the date. Bang on,
23 It was May 10th.

24 Are you going to have any criticism about
25 his choice of orchiectomy as a procedure on May 10th,

Page 36

1 that you read them after you are given the diagnosis
2 from a pathologist?

3 A That is correct.

4 Q Are you going to have any criticisms of
5 Dr. Basa prior to the August 21st, 1995 visit, which,
6 for your reference, was his last office visit with Dr.
7 Basa?

8 A No.

9 Q Okay. What is the most common type of
10 testicular tumor?

11 A What age group?

12 Q The 30 to 40 year old male.

13 A Generally seminoma.

14 Q Would you agree the treatment of choice
15 for seminoma is radiation?

16 A It is dependent upon the stage,

17 Q We have discussed that; is that correct?

18 A That is correct.

19 Q Would you agree that 80 to 90 percent of
20 Stage I and II seminomas are cured by radiation?

21 A Yes.

22 Q Would you agree that it's rare that
23 there's an incidence of recurrence of that type of
24 cancer after going through radiation treatment?

25 A For low stage, yes, I would agree.

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 10

Page 37

1 Q And for low stage, does that include Stage
2 I and Stage II?
3 A That includes Stage I and non-bulky Stage
4 II.
5 Q Doctor, you would agree that there is a
6 low incidence of metastasis even with seminoma; isn't
7 that correct?
8 A That is correct.
9 Q Except for the June 19th, 1997 report, did
10 you write any other reports in this case?
11 A No,
12 Q Did you keep any notes to yourself as you
13 reviewed the material to author this report?
14 A No.
15 Q Do you have a copy of your report in front
16 of you?
17 A I have Mr. Landskroner's report here, his
18 copy of what I wrote.
19 Q Okay. By that do you mean that June 19th,
20 1997, two-page report?
21 A I have a one-page letter that I wrote.
22 Q Okay. The second page is just your
23 signature; is that correct? Maybe that's the way it
24 came to me by fax,
25 Jack, I have a two-page report.

Page 38

1 MR. LANDSKRONER: We have a one-page report,
2 Marilyn. I don't know if it came by fax that way. I
3 have a one-page report.
4 Q BY MS. CRISAFI: Is the last line of
5 substance in your report, "Please contact me in I may
6 be of further assistance?"
7 A Yes.
8 MS. CRISAFI: Do you have an extra copy of
9 that report that the court reporter could mark for the
10 purposes of my next few questions?
11 MR. LANDSKRONER: I don't know. I can run and
12 make one.
13 MS. CRISAFI: Can you do that at the end of
14 the deposition?
15 MR. LANDSKRONER: Sure.
16 Q BY MS. CRISAFI: Doctor, do you plan on
17 offering any opinions at trial outside of this report?
18 MR. LANDSKRONER: Objection. Go ahead.
19 THE WITNESS: I basically plan on answering
20 the questions that I have been asked.
21 Q BY MS. CRISAFI: Well, Doctor, let me have
22 you take a review of your report, and tell me now if
23 there's opinions that you have today beyond those that
24 you have expressed in that report.
25 A Pertaining to this particular situation, I

Page 39

1 do not believe so.
2 MS. CRISAFI: And, Jack, if you find before
3 trial that Dr. Kaye will be offering additional
4 opinions, will you let me know so I can question him on
5 those?
6 MR. LANDSKRONER: I will, And just to the
7 extent of questions that may come into play concerning
8 the amount of chemotherapy that Dr. Kaye can answer
9 within his expertise, I will be asking about those.
10 You have inquired about that somewhat already. And I
11 think that's it,
12 MS. CRISAFI: All right. And I am -- there is
13 nothing in this report about his opinions about
14 chemotherapy -- well, strike that. We'll get to that.
15 I'd like to start at the top.
16 While we're talking about other expertise,
17 except for urology, do you hold yourself out as --
18 well, first of all, do you hold yourself out as an
19 expert in the field of urology?
20 A Yes.
21 Q Do you hold yourself out as an expert in
22 any other field?
23 A Not within medicine.
24 Q Out of curiosity, what other fields do you
25 hold yourself out as an expert in?

Page 40

1 A No comment. That's off the record. I'm
2 just kidding.
3 Q I don't even want to go there, Doctor.
4 Okay. Good for you.
5 You don't have any experience or training
6 in -- well, strike that.
7 Are you going to be offering any opinions
8 against Dr. Alberhasky at the time of trial?
9 A I don't plan on it though I'm able to, I
10 don't plan on it unless I'm asked.
11 MR. LANDSKRONER: Marilyn, outside of what is
12 in the report, no.
13 MS. CRISAFI: Okay. Off the record.
14 (Whereupon a short discussion was held off
15 the record.)
16 Q BY MS. CRISAFI: Would you tell me about
17 your experience and training in hematology/oncology?
18 A I have completed a urologic residency. I
19 have not done a hematology/oncology residency.
20 However, from a urologic perspective, I am familiar
21 with the treatment of urologic malignancies,
22 Q Tell me a little bit about your training,
23 how you get your familiarity with that treatment.
24 A By being -- by participating and being
25 part of the treatment of many urologic malignancies.

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 11

Page 41

1 Q What would that participation include?
 2 A Patient diagnosis, care, and management.
 3 Q Anything else?
 4 A Not that I'm aware of.
 5 Q Have you ever attended any continuing
 6 medical education seminars on chemotherapy agents?
 7 A Not specifically. However, these are
 8 oftentimes a part of urology continuing education, the
 9 management of urologic malignancies.
 10 Q Any part of your residency or training
 11 deal specifically with oncology or hematology?
 12 A A large portion of my urologic training
 13 was -- I was participating in the management of
 14 urologic malignancies, and have continued to do so to
 15 the present day.
 16 Q Okay. Referring to the second paragraph.
 17 You start off by saying, "Early diagnosis of metastatic
 18 disease can make a tremendous difference with
 19 testicular cancer."
 20 Tremendous difference in what, Doctor?
 21 A Well, clearly it is the earlier you find a
 22 tumor, the smaller the tumor burden, the less this
 23 increases your chances of having to expose the patient
 24 to more aggressive, toxic, and potentially injurious
 25 treatment,

Page 42

i Q What is the basis for that opinion?
 2 A My clinical experience, and what is
 3 available in the literature.
 4 Q What is early diagnosis?
 5 A Early diagnosis means being able to find
 6 something, detect something at the earliest possible
 7 stage,
 8 Q What does that depend on?
 9 A Close meticulous follow-up.
 10 Q Anything else that early detection is
 11 dependent on?
 12 A Close meticulous follow-up with the
 13 appropriate diagnostic studies,
 14 Q Well, Doctor, if a patient hasn't even
 15 presented to a physician, that would preclude early
 16 follow-up, wouldn't it? I mean, you have to establish
 17 a relationship with a physician first before you have a
 18 follow-up?
 19 A I think we're talking about two
 20 different -- I'm not sure what you're asking.
 21 Clearly, if the patient hasn't seen the
 22 physician, it's difficult to do the appropriate
 23 follow-up.
 24 Q Okay. Thanks. You then say, "Yearly
 25 follow-up as recommended after initial therapy in Dr.

Page 43

1 Basa's note does not comply with an appropriate
 2 standard for managing these patients, and is contrary
 3 to all that is published in the urologic literature."
 4 I want to know what you mean by "these
 5 patients."
 6 A A patient with testicular cancer, period.
 7 Q Does that include all forms of testicular
 8 cancer?
 9 A That includes all forms of testicular
 10 cancer,
 11 Q You're not drawing any distinction between
 12 seminoma, embryonal cell, or any other type of
 13 subcategory?
 14 A I am drawing no distinction,
 15 Q You say that yearly follow-up is contrary
 16 in all that is published in urologic literature.
 17 First of all, what literature are you
 18 referring to?
 19 A I am referring to standard urologic
 20 reference sources such as the Journal of Urology,
 21 Campbell's Urology, Gillenwater Urology (phonetic),
 22 Urologic Clinics of North America, AUA updates series,
 23 and other journals such as the Gold Journal of Urology.
 24 Q Did you consult with any of these
 25 resources before you wrote this report?

Page 44

1 A I'm routinely reading these to maintain my
 2 own fund of knowledge,
 3 Q Did you refer to any of these that you
 4 just named prior to writing this report?
 5 A Not specifically. But I routinely go -- I
 6 routinely delve into these sources.
 7 Q Did you have any one of these before you
 8 as you wrote your report?
 9 A Not specifically, no.
 10 Q Did you have any of them before you,
 11 generally, before you wrote the report?
 12 A I maintain an extensive library with all
 13 the above-mentioned resources.
 14 Q Did you find it written in one of those
 15 articles or books that you just listed for me, a
 16 statement that yearly follow-up is contraindicated or
 17 below the standard of care?
 18 A That is throughout the literature.
 19 Q You're saying I could pick up the Journal
 20 of Urology or a book chapter in Campbell's, and they
 21 would all indicate to me that in all cases with
 22 testicular cancer, follow-up of one year is
 23 unacceptable; is that your testimony?
 24 A What I said was that for testicular cancer
 25 patients, I have never seen anywhere documented in the

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 12

Page 45

literature that a patient be told after prophylactic radiation that they don't need to follow-up for one year.

Everything in the literature refers to close meticulous follow-up to rule out recurrence.

Q "Frequent monitoring during the first four years after diagnosis, and in particular during the first two years post-orchietomy, is essential to detect any recurrence at the lowest possible disease volume."

Doctor, what do you mean by frequent monitoring during the first four years?

A There are several protocols that are in the literature from major institutions such as Memorial, Indiana. These vary slightly, however, we're talking about markers, for example, every one to two months, CT scans and chest x-rays at three month intervals, approximately.

Q Anything else?

A Physical exam, x-ray studies, and appropriate laboratory testing.

Q How often?

A As I said, this is something that there is a little variation in the literature, but most -- this is with blood tests at one to two-month intervals,

Page 46

chest x-rays, CT scans at approximately three months in the initial follow-up period.

Q And physical exams how often?

A This is typically done every one to two months, in my own practice, it's done every month.

Q But standard of care does not require every month for physical exam?

A The standard of care is every one to two months. That is the range that is presented in the literature as to what is practiced at almost every major oncology center dealing with testicular cancer in this country.

Q Okay.

A And that's well published.

Q So we're clear, you're talking about frequent monitoring for a patient who's been diagnosed with a Stage II-A seminoma?

A I am talking about monitoring all patients with testicular cancer regardless of their initial histology, pathology, and stage.

Q So after a patient with a Stage II-A seminoma by CT scan, who has had 19 radiation treatments and been declared disease free by the radiation oncologist, you would follow him with the similar closeness that you would follow a patient with

Page 47

embryonal cell cancer; is that what you're telling me?

A That is correct, and even more important because there is already the suggestion of metastatic disease, the prophylactic in the retroperitoneum. The prophylactic radiation does nothing to treat above the diaphragm. And that is oftentimes a site of recurrence in somebody that has proven metastatic disease.

It is unheard of to not follow this patient.

Q Did you read Dr. Lay's (phonetic) transcript between the time you wrote the report and now?

A I do not recall.

Q Would you agree that an embryonal cell is a more aggressive tumor type or cancer type than seminoma?

A In general. However, you can see resistant forms of both, but that is correct.

Q So generally embryonal cells are a more aggressive cancer?

A That is correct.

Q And still you would follow the seminoma patient with the same regularity and frequency as a patient with embryonal cell?

A That is very correct.

Page 48

Q Okay. The second part of that previous sentence about frequent monitoring, I think you set that format at two months CT scan, chest x-rays, two to three months, and a physical exam one to two months, because it's essential to detect recurrence at the lowest possible disease volume.

How do you detect tumors at the lowest possible disease volume? And I mean how, by what modalities?

A Physical examination, for example, may detect clinically abnormal lymph nodes on exam, chest x-ray may, for example, show the development of metastatic disease within the chest, CAT scan may show enlarging lymph nodes, or a change in the appearance of the retroperitoneum. Blood tests may show abnormal elevation.

Q Disease volume, and educate me here, is that measured in terms of size, or centimeters, or how is tumor volume measured?

A From a CT scan you can infer the size in centimeters.

Q Is that the same for a chest x-ray?

A That is correct.

Q And with tumor markers, that would be an elevation in the result, true?

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 13

Page 49

i A That is correct.
 2 Q Doctor, in this case the HCG and AFP were
 3 both normal when Dr. Basa drew them; is that correct?
 4 MR. LANDSKRONER: When? At what time?
 5 Q BY MS. CRISAFI: Did Dr. Basa draw more than
 6 one set of tumor markers, Doctor?
 7 A I remember reviewing the records that his
 8 AFP was abnormal when he presented with his metastatic
 9 disease,
 10 Q When was that?
 11 A I believe that was January of '96, about
 12 six or eight months after he was last seen by Dr. Basa.
 13 Q Let me ask my question specifically.
 14 Did Dr. Basa ever draw an HCG or an AFP
 15 that was elevated?
 16 A There was one that was drawn right before
 17 the patient had the orchiectomy that was not elevated.
 18 Q And that's true for the HCG and the AFP;
 19 isn't that correct?
 20 A To the best of my knowledge, yes.
 21 Q So there's no evidence to Dr. Basa that
 22 the patient had any abnormality in his tumor markers;
 23 is that correct?
 24 A At that time that is correct.
 25 Q Well, he didn't go back to see Dr. Basa,

Page 51

i recurrences.
 2 Q And, Doctor, based on your review of the
 3 records, if Dr. Basa had drawn tumor markers again on
 4 August of 1995, what would they have shown?
 5 MR. LANDSKRONER: Objection,
 6 THE WITNESS: I cannot predict. However, we
 7 know the AFP subsequently elevated into an abnormal
 8 range. Perhaps this may have been detected earlier on.
 9 Q BY MS. CRISAFI: You're speaking about the
 10 tumor markers drawn in January or February of '96; is
 11 that correct?
 12 A That is correct,
 13 Q Why don't we look at those right now.
 14 Look specifically at the January 24th AFP
 15 and HCG.
 16 MR. LANDSKRONER: That's from UH, Marilyn?
 17 MS. CRISAFI: It was Southwest General.
 18 MR. LANDSKRONER: Southwest, okay.
 19 Q BY MS. CRISAFI: Did you review the
 20 Southwest General records before your deposition today?
 21 A I believe I did, however this was awhile
 22 ago,
 23 MR. LANDSKRONER: Marilyn, we're working off
 24 my work copy here of the records, so I don't know that
 25 for whatever reason if I pulled anything out of there.

Page 50

1 did he?
 2 MR. LANDSKRONER: Objection.
 3 THE WITNESS: I don't know the scenario. I
 4 don't know whether he saw him in follow-up after the
 5 initial orchiectomy and radiation.
 6 Q BY MS. CRISAFI: Well --
 7 MR. LANDSKRONER: The records indicate he saw
 8 him in August.
 9 THE WITNESS: From what I understand, he was
 10 seen in August.
 11 Q BY MS. CRISAFI: Okay. And, Doctor, at that
 12 time with previously normal tumor markers, did the
 13 standard of care require additional sets be drawn?
 14 A Yes.
 15 Q Why is that?
 16 A To look for elevations.
 17 Q Doctor, does the standard of care require
 18 a search for elevation when the initial sets drawn
 19 mnths prior were normal?
 20 A Yes.
 21 Q And the basis for your opinion that they
 22 were required?
 23 A Because even what appears to be a
 24 homogeneous tumor may potentially have areas that were
 25 missed. And these may become marker producing

Page 52

1 Q BY MS. CRISAFI: Doctor, when is the last
 2 time you reviewed the records before giving your
 3 deposition today?
 4 A I gave them a quick review this morning
 5 and it's been several months since I initially read the
 6 whole record.
 7 Q And you're not sure if you reviewed the
 8 Southwest records before your deposition this morning?
 9 A I did review everything that was provided
 10 to me.
 11 Q So that's yes?
 12 A That is correct.
 13 Q Do you recall whether they were elevated
 14 when he re-presented to Southwest in January of 1996?
 15 A I am currently looking for the lab
 16 results.
 17 MR. LANDSKRONER: I don't see them in this
 18 copy that I have in front of me.
 19 Q BY MS. CRISAFI: Well, Doctor, for this next
 20 question, I want you to assume that his quantitative
 21 HCG on January 24th, 1996 was less than two, and that
 22 the AFP was four, with a reference range from zero to
 23 15 being normal.
 24 On that assumption, Doctor, do you have an
 25 opinion whether those test results would have been

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 14

Page 53

positive if they were drawn in August of 1995?

A If that is prior to treatment, they would most likely have been normal.

Q Thank you. In your -- strike that.

A I'm sorry?

Q I said, "Strike that."

You say in your report that, "Because of the failure to outline a reasonable follow-up schedule, Mr. Ortman only presented when he became symptomatic with bulky metastatic disease."

First of all, have you already testified as to what you believe the standard of care requires as far as a reasonable follow-up schedule; specifically tumor markers every one to two months, a CT and a chest x-ray every three months, and a physical exam every one to two months, correct?

A I stated that, that's correct.

Q Okay. And that's what you meant in your report by a reasonable follow-up; is that right?

A That is correct.

Q Mr. Ortman only presented when he became symptomatic with bulky metastatic disease."

And, Doctor, what is bulky metastatic disease?

A Bulky metastatic disease would, in his

Page 55

A That's reasonable, yes,

Q Okay. Anything else that would comprise bulky metastatic disease?

A No.

Q Okay. Doctor, in what areas did he have lymph node enlargement?

A To the best of my recollection, without looking at the reports --

Q Doctor, I want you to look at the reports.

A Okay. The chest x-ray showed abnormalities

Q Where was that?

A That was this x-ray right here.

Where were the x-rays from Southwest?

On January 24th, 1996 there is a nodule in the posterior segment of the right lower lobe.

Q And what are you looking at?

A I'm looking at a chest CT report.

Q Okay. Anywhere else?

MR. LANDSKRONER: Again, I'll just object to qualify it by suggesting that based on what you have in front of you, if you know of any others,

Q BY MS. CRISAFI: Doctor, based on your rearview of the records, except for the isolated nodule in the right lower lobe, was there anywhere else that

Page 54

case, be an enlargement of the lymph nodes in his retroperitoneum.

Q Doctor, does medicine recognize a certain tumor volume at which time an enlargement is bulky?

A In this case, depending on which literature you look at, it's roughly five to six centimeters.

Q Okay. So in this case, Doctor, he didn't have bulky metastatic disease, did he?

A Or the number and degree and extent of the nodes involved.

Q Okay. What is the number and extent of nodes involved that comprise bulky disease?

A If -- well, what you can have is basically several -- you can have several areas in multiple regions. The nodes may be just between two and a half and five centimeters, or you can have one large bulky mass that's greater than five centimeters,

Q You said several areas with enlargement?

A For example, in the chest and retroperitoneum.

Q Okay. So if you have a smaller tumor, two to three centimeters, in addition to enlargement in several other areas, then that would be considered bulky; is that correct?

Page 56

he had enlarged lymph nodes when he re-presented in January of 1996?

A In the retroperitoneum.

Q What study are you looking at to find that?

A CT scan of the abdomen and pelvis.

Q Okay. Anywhere else?

A To the best of my knowledge, and from what I have in front of me, that is it.

Q And you made your statement that he had bulky metastatic disease based on the findings in the CT scan of the 2.5 centimeter soft tissue mass, and the densities -- and the smaller densities in the same areas, and the isolated nodule in the right lower lobe; is that correct?

MR. LANDSKRONER: Objection. Go ahead.

THE WITNESS: That is correct.

Q BY MS. CRISAFI: Doctor, are you qualified to testify as to what difference the tumor volume in January of 24th, 1996 made on his outcome?

A From a urologic standpoint,

Q Based on your treatment -- based on your training as a urologist, what difference does the difference in tumor volume -- what difference did that make in Mr. Ortman's course of treatment, based on your

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 15

Page 57

experience and training?

A Well, he clearly, at the time he re-presented, he had disease apparently above the diaphragm as well as in the retroperitoneum. And this required him to have more extensive chemotherapy than if he were, perhaps, picked up earlier.

Q Doctor, based on your training as a urologist, at what point would he not have needed what you call, more extensive chemotherapy?

A And by that I mean, you say that because it was picked up at the tumor volume that it was picked up at, that he needed more chemotherapy.

Q What tumor volume would you expect to see where he would need normal or anticipated chemotherapy?

A For example, if he were not to have involvement above the diaphragm, with small residual disease in the retroperitoneum, he could have potentially been operated on, and not -- and had limited amounts of additional chemotherapy.

Q Are you qualified to say, based on your experience and training, at what point the additional smaller soft tissue densities appeared in the CT scan of the abdomen and pelvis, as well as the disease above the diaphragm, if that's not the --

A I do not know because there were no tests

Page 59

THE WITNESS: It's clearly guessing. I do not know for sure. But clearly when these were detectable is unknown.

Q BY MS. CRISAFI: So you don't know if it would have been detectable in three months?

MR. LANDSKRONER: Objection. Go ahead and answer that.

THE WITNESS: It may have,

Q BY MS. CRISAFI: But you don't know?

A I cannot say with 100 percent certainty.

Q Can you say with probability?

A I cannot answer that question.

Q Why is that?

A Because you're asking me to speculate on an unknown.

Q Okay. You say, "As a result of the manner in which Mr. Ortman was diagnosed and managed, it is my opinion, to a reasonable medical probability, that it was necessary to expose him to a more intensive salvage chemotherapy with documented complications."

First of all, what do you mean by the way in which he was diagnosed and managed?

A I'm referring to the fact that he was initially given the wrong therapy for what his true pathology was.

Page 58

ordered in between when these tests were done and the patient was last seen,

Q Doctor, do you have any information, based on your training as a urologist, as to the speed with which these growths grow?

A Certainly not specifically because it can certainly be variable,

Q So is it fair to say that you're not qualified to say when these first would have been detectable by CT or chest x-ray?

A I wouldn't say I'm not qualified. I'm saying that is a difficult question to answer because the growth rate of one tumor versus another is very variable,

Q Would it have been detectable in his -- his last treatment was on June 23rd, 1995. Would something have been detectable by July 23rd, 1995?

A Potentially.

Q So based on your experience and training, something that in January of '96 was 2.5 centimeters, and also included several additional smaller soft tissue densities, may have been detectable in July of 1995 where in May of 1995 no such findings were present?

MR. LANDSKRONER: Objection. Go ahead.

Page 60

He was then not followed-up until he re-presented in pain. So that is what I'm referring to in terms of the way he was diagnosed and managed.

Q The wrong therapy and not followed-up.

Anything else that you mean by, "As a result of the way he was diagnosed and managed"?

A No.

Q Okay. We have already discussed the fact that you're not critical of Dr. Basa about the therapy, because he was given a diagnosis from the pathologist of seminoma; is that correct?

A That is correct.

Q Okay. So your main criticism of Dr. Basa in this case is his follow-up recommendations, and I won't go through them again, but as far as the tumor markers, CT scans, and the physical exam, correct?

MR. LANDSKRONER: Objection. Go ahead.

THE WITNESS: And chest x-rays, that is correct. There was no follow-up plan outlined for Mr. Ortman.

Q BY MS. CRISAFI: That you saw in the documents; is that correct?

A That I saw in the documents, that is correct.

Q Have you read Dr. Basa's deposition?

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 16

Page 61

1 A Yes, I have.
2 Q Doctor, I lost my train of thought, just a
3 minute,
4 Khat does "salvage" when you're talking
5 about chemotherapy?
6 A In this case, salvage just means a more
7 aggressive regimen. Sometimes it would require
8 different drug utilization.
9 Q Does salvage chemotherapy also mean
10 chemotherapy given to a patient after a recurrence?
11 A In certain situations, yes.
12 Q And it doesn't necessarily mean a more
13 aggressive regimen, it could just mean that it's
14 chemotherapy given to a patient who has had a
15 recurrence; is that correct?
16 MR. LANDSKRONER: Objection.
17 THE WITNESS: That is reasonable,
18 Q BY MS. CRISAFI: Doctor, I appreciate you
19 may have discussed this in an earlier answer, but I'm
20 going to ask you again for clarification.
21 What is the basis for your opinion that as
22 a result of Mr. Ortman's follow-up treatment -- strike
23 that.
24 What is the basis for your opinion that
25 because Mr. Ortman was told to follow-up in a year that

Page 63

1 A My first exposure was during my residency
2 which began in 1987,
3 Q So for about 10 years; is that correct?
4 A I have participated in some form or
5 another over the past 10 years, correct.
6 Q Going back to your report with documented
7 complications, I'll read the whole sentence if you
8 don't understand the context, but what documented
9 complications are you talking about?
10 A Mr. Ortman had several complications that
11 were reported including the pain at presentation, the
12 effects of the chemotherapy on his blood count making
13 him more prone to certain types of infections such as
14 fingernail infections requiring antibiotics, which he
15 had reaction to, hypersplenism, those things that have
16 been mentioned in his university hospital documents
17 that I have been provided.
18 Q Okay.
19 A And that's not to mention what future
20 risks he is -- what future risks he still has as a
21 result of the combination of radiation and
22 chemotherapy
23 Q Doctor, a patient who has chemotherapy
24 done, what are the known side effects of chemotherapy
25 based on your experience as a urologist?

Page 62

1 he needed more intensive salvage chemotherapy?
2 A From a urologic standpoint, the smaller
3 the tumor burden at the time of diagnosis you can
4 potentially limit the amount of chemotherapy that you
5 may have to give.
6 Q In following that, is that in your
7 opinion, he would have needed less chemotherapy if he
8 was detected at the time the tumor burden was smaller?
9 A In his situation, if he was managed
10 correctly, he may -- in all probability, he would have
11 received less chemotherapy, anywhere from one to two
12 cycles less, depending on how he was managed.
13 Q When you say "managed correctly," do you
14 mean come in for the follow-up tests that we have
15 discussed?
16 A I'm talking about his entire case as well
17 as his follow-up.
18 Q Entire case meaning the initial diagnosis
19 of seminoma which was embryonal cell cancer?
20 A Yes, ma'am.
21 Q And that's based on your experience as a
22 urologist managing patients with testicular cancer?
23 A Yes, ma'am.
24 Q How long have you been treating patients
25 with testicular cancer?

Page 64

1 A There is a broad range as there are a
2 broad range of chemotherapeutic agents. These include
3 everything from cardiopulmonary toxicity, neuro
4 toxicity, depletion of bone marrow, in addition to the
5 more common side effects that most lay people are aware
6 of such as nausea, vomiting, hair loss.
7 I mean, I can provide you with a whole PDR
8 and you can look up pages of complications.
9 Q Patients after receiving two or three
10 doses of BEP could have nausea, vomiting, and hair
11 loss; is that correct?
12 A That is correct.
13 Q Doctor, did you see any evidence that Mr.
14 Ortman had cardiopulmonary toxicity?
15 A No, I did not.
16 Q Neuro toxicity?
17 A To the best of my knowledge, no.
18 Q Any depletion of bone marrow?
19 A I believe and, again, I'd have to look at
20 it, but I believe he did have problems, as most do. I
21 believe he did have problems with low white cell count
22 in response to the chemotherapy,
23 Q Except for the low white count, any other
24 evidence that you saw that Mr. Ortman had depletion of
25 bone marrow?

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 17

Page 65

1 A No.
2 Q Doctor, can patients have depletion of
3 bone marrow after two to three cycles of BEP
4 chemotherapy?
5 A They can have potential side effects,
6 correct.
7 Q Including depletion of bone marrow as
8 evidenced by low white cell count?
9 A Their white cell count can be affected,
10 yes.
11 Q I'm not sure why you're qualifying your
12 answer,
13 A Because I just want to be clear,
14 Q Okay.
15 A No reason specifically.
16 Q Okay. Doctor, are you going to have the
17 opinion at trial that his reaction to penicillin was
18 related to his chemotherapy?
19 A No. His reaction to penicillin was --
20 certainly his exposure to penicillin, I think, was part
21 of a chain of events that occurred, but clearly the
22 chemotherapy did not cause the allergy to penicillin.
23 Q Okay. And --
24 A That complication is part of a long chain
25 of events.

Page 66

1 Q What is -- for my benefit, will you tell
2 me what that chain of events is?
3 A In terms of his hypersplenism and need for
4 antibiotics as a result of chemotherapy.
5 Q Okay. And, Doctor, I think you just told
6 me this, but someone with a low cell count, that can
7 happen after two or three cycles, there may be
8 difficulty fighting infection, is that true?
9 A People with low white cell counts that are
10 affected by chemotherapy may have difficulty in
11 fighting infections, that is true.
12 Q Okay. Doctor, can you say to a
13 probability that the -- I think it was a finger
14 infection he had. First of all, was that due to a
15 difficulty from fighting an infection from
16 chemotherapy?
17 A It certainly is an unusual type of
18 infection and the chemotherapy puts him at a higher
19 risk for that occurring.
20 Q ~~What~~ you be saying at the time of trial
21 that there was a causal relation between those two?
22 A I think it is a reasonable relationship,
23 yes.
24 Q And, Doctor, can you say to a probability
25 that that's a difficulty he would have not had after

Page 67

1 three cycles of chemotherapy?
2 MR. LANDSKRONER: Objection. Go ahead.
3 THE WITNESS: I cannot say that.
4 Q BY MS. CRISAFI: Doctor, in the last line of
5 that second to the last paragraph you state that,
6 "Presently Mr. Ortman is less than one and a half years
7 from salvage therapy and is still at risk for recurrent
8 testicular cancer."
9 Currently, December 1996, we're more than
10 a year and a half from his chemotherapy. I'd like to
11 know how that affects your opinion in that last
12 sentence that he still is at risk for recurrent
13 testicular cancer?
14 A It doesn't affect it. There are cases in
15 literature, despite early response, of delayed
16 recurrence.
17 It is true that most people recur during
18 the first one to two years. However, there are cases
19 in the literature of late recurrences despite favorable
20 responses noted early on,
21 Plus there is clear evidence in the
22 literature of second malignancies related to a
23 topisode, for example, one of Mr. Ortman's
24 chemotherapeutic agents,
25 Q A malignancy relating to a topisode?

Page 68

1 A Exactly.
2 Q What literature have you found that
3 evidence in?
4 A There -- the reports are in the urologic
5 literature relating to treatments of urologic
6 malignancies, that is where I have become aware of this
7 information,
8 Q Can you cite for me the specific journal
9 article?
10 A At this moment I cannot.
11 Q In preparation of that opinion, did you
12 have an opportunity to review a specific article that
13 you currently can't recall the title of?
14 A I'm sure I have, that's part of my routine
15 reading of things like AUA updates and Urologic Clinics
16 of North America where these issues are frequently
17 covered.
18 Q Did you have before you a certain issue or
19 article from one of those journals as you made that
20 opinion?
21 A Not at the time. I have made my opinion
22 as part of my fund of knowledge from my routine
23 reading,
24 Q I guess my question was specifically
25 whether you found an article that said there was a

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 18

Page 69

1 likelihood of secondary malignancy related to a
2 toposide?

3 A There are articles in the literature that
4 talk about secondary malignancies relating to a
5 toposide, yes. I cannot give you a specific quotation
6 in terms of what the article is at this moment.

7 Q Okay.

8 A But it is well documented in the
9 literature,

10 Q Okay. And currently based on records you
11 have reviewed, you have not seen that Mr. Ortman has
12 demonstrated a second malignancy related to a toposide?

13 A It is too early to make that judgment.

14 Q In the literature you have reviewed, when
15 does that second malignancy related to a toposide
16 manifest?

17 A Several of the cases that have been
18 reported have been over about a four-year period,
19 However, the information on this issue is still growing
20 as we have switched people to toposide-based regimens
21 and we begin to accumulate more long term data.

22 So we do not have the final answer on what
23 the true long term malignant secondary malignancy will
24 be.

25 Q Based on your review of that literature,

Page 71

1 what would you expect to find on a clinical exam within
2 a month or two after finishing radiation?

3 A Well, on somebody clinical II-A one month
4 after treatment --

5 Q One to two mnths.

6 A One to two months after treatment on a
7 physical exam, unless there was evidence of
8 supradiaphragmatic disease, you probably wouldn't see
9 anything on a physical exam. You may see something
10 changing on a CAT scan or chest x-ray.

11 Q What would those findings be on a chest
12 x-ray or CT exam that you may see?

13 A Enlargement of lymph nodes or development
14 of a pulmonary nodule, for example.

15 Q Do you recall reviewing Dr. Basa's 8-21-95
16 progress note?

17 A I'm turning to it at this moment.

18 Q For the purposes of this question, I want
19 you to assume that Dr. Basa thought that this patient
20 had a Stage II seminoma and had completed 19 radiation
21 therapy treatments and was told by the radiation
22 oncologist that he was disease free.

23 Now, would that -- based on the assumption
24 Basa had that information, is there anything in his
25 clinical findings that would have suggested he would

Page 70

1 what percentage of the patients that survive the first
2 one to two years without recurrence go on to have the
3 secondary malignancy?

4 A In the early information, I have seen a
5 range from one-half to four percent.

6 Q Back to earlier statements. Although most
7 recur within the first year to two years if they're
8 going to, there are cases of late recurrence.

9 Based on your review of literature and
10 experience and training, what percentage of those --
11 what percentage of patients do have a late recurrence?

12 A That it is more of an anecdotal
13 percentage.

14 Q I don't know what that means.

15 A Anecdotal meaning less than five percent,
16 meaning very few.

17 Q Doctor, what clinical findings would you
18 expect to see if a patient status post-radiation
19 therapy did not have a disease-free status at the close
20 of radiation treatment?

21 MR. LANDSKRONER: Objection, Go ahead.

22 THE WITNESS: May I just rephrase?

23 You're asking me if somebody failed
24 radiation therapy, what would you expect to see?

25 Q BY MS. CRISAFI: For a Stage II seminoma,

Page 72

1 not have response -- anything that you see in Dr.
2 Basa's notes there that would suggest to him any
3 findings that the patient did not have the expected
4 response to radiation treatment?

5 A I'm just quickly looking over the note.
6 August 21st, '95, correct?

7 Q Which is Dr. Basa's last visit with Mr.
8 Ortman.

9 A All right, Based on what I can see that's
10 documented, the only part of the physical exam that I
11 see is that his abdomen is soft without palpable mass,

12 There's no mention as to whether or not he
13 palpated his cervical, supraclavicular, or inguinal
14 lymph nodes present in this document. He just comments
15 on that his other testicle seemed okay.

16 So the only thing in the exam is the
17 abdomen and other testicle. There is no documentation
18 of any other lymph nodes, which I think is important
19 for testes cancer.

20 And there's no documentation of a plan for
21 further follow-up testing in terms of radiographic
22 imaging.

23 Q The question was specifically whether or
24 not any of those physical findings would indicate he
25

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 19

Page 73

1 didn't have anything but the appropriate response to
2 radiation treatment?

3 MR. LANDSKRONER: Objection. Go ahead.

4 THE WITNESS: His exam of the abdomen and his
5 contralateral testicle are reported to be normal.

6 However, the exam is an incomplete exam
7 for testicular cancer follow-up.

8 Q BY MS. CRISAFI: So can you answer my
9 question?

10 A I just did. There is nothing in the
11 limited exam that he did that is abnormal.

12 Q Okay, It would suggest to him, with the
13 caveat you indicated to me about the other lymph nodes
14 that were not documented as palpated, that would
15 indicate to him that radiation -- the response to the
16 radiation was anything but the anticipated response?

17 A Within the limits of the sensitivity of
18 his physical exam, that is correct.

19 Q Can you turn to Dr. Peter Lay's August
20 16th, 1995 letter?

21 MR. LANDSKRONER: Is that Basa's chart or
22 the --

23 MS. CRISAFI: If you split up the Basa
24 correspondence section, it may be in the correspondence
25 section,

Page 75

1 would he need to be monitored sooner than that for
2 symptoms of recurrence?

3 A Because oftentimes recurrence --
4 recurrences can be picked up prior to a patient being
5 symptomatic. That is the purpose of blood testing, CT
6 scanning and chest x-rays,

7 Q But we have established that at no time
8 were his tumor markers elevated,

9 So would you agree that even if Dr. Basa
10 had drawn tumor markers in one to two months or six
11 months, those would not have been elevated?

12 MR. LANDSKRONER: Objection. Go ahead.

13 THE WITNESS: That is correct.

14 Q BY MS. CRISAFI: Do you have an opinion as
15 to when, if at all, any of these findings would have
16 been palpable?

17 A His -- unless his retroperitoneal
18 recurrence became massive, it's not likely that these
19 would have been palpable. And this is why CT scanning
20 and chest x-rays are necessary parts of meticulous
21 follow-up, which is the standard in the urologic
22 community.

23 Q So specifically in this case, a CT scan
24 and chest x-ray were the parts of follow-up that were
25 needed in Mr. Ortman's care?

Page 74

1 (Whereupon a short recess was taken.)

2 Q BY MS. CRISAFI: Doctor, have you turned to
3 the review of Dr. Lay's August 16th, 1995 letter to Dr.
4 Basa?

5 A Yes, ma'am.

6 Q Specifically, did Dr. Lay report that Mr.
7 Ortman stated he had no complaints; he was eating well,
8 had no symptoms of nausea, vomiting, fever, or night
9 sweats?

10 Do you see that in the second paragraph?

11 A Yes, I do,

12 Q In addition, Dr. Lay told Dr. Basa that
13 there was no evidence of disease.

14 Do you see that paragraph?

15 A That is correct.

16 Q Based on that information that the
17 radiation oncologist gave to Dr. Basa, with the
18 understanding that this patient had seminoma, was there
19 any reason that Dr. Basa needed to see the patient any
20 earlier than three to six months for follow-up?

21 A I believe the patient needed follow-up
22 sooner, yes.

23 Q Why is that?

24 A To monitor him for evidence of recurrence.

25 Q With these symptoms of this report, why

Page 76

1 A As well as the markers and physical exam.
2 Just because they were negative doesn't
3 mean it's a part that should be ignored, looking back
4 retrospectively.

5 Q Okay. But in this case, Doctor, since
6 they were negative and nothing would have been
7 palpable, that made no difference in his outcome, did
8 it?

9 A I'm sorry, can you repeat the question?

10 Q Doctor, in this case since the tumor
11 markers were negative throughout, and for a 2.5
12 centimeter mass, which I think you said would not have
13 been palpable, the fact that these were not done i.e.
14 no palpation on physical exam, no new tumor markers
15 being drawn, did not make a difference in Mr. Ortman's
16 course; would you agree with that?

17 A In this case that is correct.

18 Q Okay. Doctor, if a patient had presented
19 two months after getting radiation treatment
20 post-orchietomy for Stage II-A seminoma, if that
21 patient presented for follow-up with nausea, vomiting,
22 weight loss, and loss of appetite, that would increase
23 the physician's suspicion of recurrence, wouldn't it?

24 A Certainly those symptoms are things that
25 can go along with recurrence as well as complications

DEPOSITION OF MITCHELL C. JSAYE, M.D.

SHEET 20

Page 77

of the therapy.

Q Okay. But those are findings that would prompt a physician to investigate further for evidence of recurrence or non-cure?

A Certainly those are abnormalities that should result in an investigation to find out what the cause of these abnormal symptoms are, yes.

Q Okay. Doctor, do you know in what time period Mr. Ortman ended up having a CT scan after his last radiation treatment?

A I would have to look at the chart to answer that specifically, But to the best of my knowledge, the first one was done when he re-presented in January of '96.

Q Okay. Based on the charts with the completion date of his radiation at 6-23-95 and the re-presentation at Southwest on 1-24-96, that's approximately seven months after completion of treatment and five months after his last visit to Dr. Basa, based on that information, that time span is below the standard of care to receive a follow-up CT scan?

A That is correct.

Q And we have discussed exhaustively probably the reasons why that is so; is that correct?

Page 79

correct?

A Months ago, I believe.

Q And we talked about that briefly earlier.

I just want to make sure you don't have any other opinions about what that CT scan -- well, first of all, do you disagree with the findings of the CT that were in the report on January 24th, 1996 as reported by Walter George, M.D. (phonetic)?

A To answer that, I would have to have the scan in front of me.

Q Do you recall -- well --

A I'm not -- I would have to have the scan in front of me to answer that with 100 percent certainty.

Q Would your recollection upon the initial review that that finding on the CT scan was any larger than 2.5 centimeters?

A I do not recall.

MS. CRISAFI: Okay. Jack, I'm just going to ask to recall him if he's going to testify that there was anything greater on that CT scan, and examine him about that, if at the time trial if he goes back and compares them, Okay?

MR. LANDSKRONER: I'll object to it. I don't think he will, but I'll just object to it. Go ahead.

Page 78

A In my view, there is no discussion. I don't think you would find one urologist at a major clinical institution who would support Dr. Basa's follow-up protocol,

Q Well, he had a chest CT -- I'm sorry, he had a CT earlier than one year, didn't he?

A You're talking at approximately seven -- I assume this -- we're talking approximately seven, eight months between CT scans.

Q That's earlier than the one year that Dr. Basa recommended though, isn't it?

MR. LANDSKRONER: Objection.

THE WITNESS: I'm sorry. We need to step back and -- can you ask me the question specifically again?

Q BY MS. CRISAFI: Well, Dr. Basa recommended follow-up in one year and Mr. Ortman had a CT scan earlier than that, didn't he?

A Only because he presented with pain from his recurrent disease, if I'm understanding you correctly.

Q Regardless of the reason, he did have a CT scan earlier than that one year; is that correct?

A Yes.

Q Okay, Doctor, you actually looked at the January 24th, 1996 CT scan, the actual film; is that

Page 80

Q BY MS. CRISAFI: All right. We discussed -- we have discussed what you think the findings would have been, I think I asked you, if you did a CT in August or September or October; is that correct?

A I basically said I could not predict that.

Q Okay. But -- I'm almost done,

Doctor, you talked earlier about what you think Mr. Ortman's future problems may be as a result of the chemotherapy he required for the tumor and cancer when they found it,

What are your opinions about that?

A As I said, certainly anybody who is exposed to chemotherapy, and in his case, this is superimposed upon approximately 2,500 rads of radiation, is at risk for future complications, One of them is secondary malignancy, which we have already discussed.

Q Okay. Anything else?

A Certainly that it is a big enough -- would be a big enough concern for me.

Q I'm not disputing the size of the concern. I only want to know if there's any potential future damages or future injuries that you think Mr. Ortman is prone to as a result of getting treatment when he got it.

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 21

Page 81

A Only if he were to develop other medical problems down the road that would require additional treatment, that would certainly be affected by the amount of chemotherapeutic agents that he has already received to this point in time. Cumulative doses of a lot of medication enhance the toxicity.

Q Doctor, what problems are you able to see to a probability he may develop down the road?

A As I said, there's a risk of secondary malignancy for a toposide. I have quoted you a general number of a half to four percent that I have seen in the literature as a summary.

Q Anything else except for the secondary malignancy from a toposide that you can say based on your experience you anticipate potential problems for Mr. Ortman?

MR. LANDSKRONER: Objection. Go ahead.

THE WITNESS: Again, I said certainly if he were to develop other medical problems, the fact that he has baseline radiation and chemotherapy may further complicate additional treatments for other medical problems that occur in the future.

Q BY MS. CRISAFI: Right. That's what I'm trying to get a handle on, Doctor, if he were to develop medical problems in the future. I need to know

Page 83

patient who has had radiation before chemotherapy?

A The available information in the literature.

Q Anything else?

A No.

Q Well, in your experience, Doctor, do patients have difficulty when they have -- your own patients, have they had difficulty with chemotherapy after radiation first?

A I have had -- in my own personal experience, I have not had any specific patients have complication with this specific scenario.

Q None of your patients have had radiation before chemotherapy?

A That is not -- I did not say that.

Q Have you had patients who have had radiation before chemotherapy?

A Are you talking specifically about testes cancer or any malignancy in general?

Q Cancer of the testes.

A In patients who have cancer of the testes, I have not over the past several years, to the best of my knowledge, had anybody who has had radiation who has then gone on to need subsequent chemotherapy,

Q So you can't testify from your personal

Page 82

what you mean by that.

A If he were -- he were to develop another malignancy, for example, certainly this may affect how he can be treated.

Q This meaning what may affect how he is treated?

A The fact that he has already received radiation chemotherapy.

Q How would it affect him?

A It would add to his cumulative dose exposure of these toxic agents.

Q Are there any other injuries or damages that you can say to a probability are a risk to Mr. Ortman except for secondary malignancies and the difficulties if he had a recurrence due to the cumulative radiation and chemotherapy that Mr. Ortman may be at risk due to his receiving chemotherapy when he got it?

A Not specifically.

MS. CRISAFI: Jack, if he thinks of something between now and the time of trial, I reserve a right to question him on those.

MR. LANDSKRONER: I'll object, but go ahead.

Q BY MS. CRISAFI: Doctor, what do you base your opinion on that there is a cumulative effect to a

Page 84

experience what any potential difficulties would be from that scenario?

A That is correct.

Q Doctor, would you agree that the response of embryonal cell cancer to chemotherapy is excellent?

MR. LANDSKRONER: Objection.

THE WITNESS: That's a general statement. But the cure rate for testes cancer has made dramatic advances over the past several decades.

Q BY MS. CRISAFI: And would you agree with that statement then?

A Yes,

MR. LANDSKRONER: Objection.

Q BY MS. CRISAFI: Okay, Would you agree that chemotherapy is the treatment of choice for embryonal cell testicular cancer?

A That, again, needs to be stage specific.

Q Okay. For the stage that Mr. Ortman presented in January of 1996.

A When he recurred he had disease based on the chest x-ray -- based on the best -- based on the information I was provided, it seems that he had both retroperitoneal and possibly supradiaphragmatic disease.

Chemotherapy is the treatment of choice in

1 that situation.

2 Q Is it your testimony that he would not
3 have needed chemotherapy in May of 1995?

4 A When he was initially picked up in May of
5 1995, it is my view that he should have been treated
6 with a retroperitoneal lymph node dissection after his
7 radical orchiectomy,

8 And, again, based on the pathologic
9 findings of the retroperitoneal lymph nodes, the
10 decision as to whether or not he would need adjuvant
11 chemotherapy would be addressed at that time,

12 Q Can you answer that based on his CT scan
13 that Dr. Lay did whether he would have needed
14 chemotherapy?

15 A There was a suggestion of enlarged lymph
16 nodes, so probably he would need adjuvant chemotherapy
17 after retroperitoneal lymph node dissection,

18 Q Okay. Thank you.

19 Doctor, what side effects from the
20 chemotherapy did Mr. Ortman have after his fourth round
21 that were different from those after his third round?

22 A I'm double-checking, but I believe it was
23 perionychia and the evidence of hypersplenism,

24 Q Anything else?

25 A I'm looking through the chart.

1 his treatment course,

2 Q Any relationship that has to chemotherapy,
3 though?

4 A Clearly with chemotherapy you're altering
5 his blood count and there is a cumulative effect of --
6 there is a cumulative effect related to his treatment
7 that in high probability contributed to the
8 hypersplenism.

9 Q Okay. You'd agree, though, that an
10 altered blood count from chemotherapy could have come
11 from the cumulative effect of two rounds or three
12 rounds of BEP chemotherapy, too?

13 A You increase your risk the more you
14 increase your cumulative dose.

15 Q I understand that. But isn't it true
16 that's a cumulative effect that could have happened
17 after two or three cycles of BEP?

18 A It is potentially possible. However, you
19 increase the risk the more you're exposed, too.

20 Q Okay, Doctor, do you have any opinion
21 about Mr. Ortman's risk of recurrence of testicular
22 cancer?

23 A Yes.

24 Q What is your opinion about his risk of
25 recurrence within one and a half years with no evidence

1 Q All right.

2 A I believe that to be it.

3 Q What is perionychia and hypersplenism
4 from? I mean, how does it relate to chemotherapy?

5 A Perionychia is an infection, and clearly
6 with somebody with altered immunity, as all people are
7 on -- as most people are on chemotherapy, are more
8 prone to infections, that's kind of why they have
9 isolation areas in certain hospitals to protect
10 patients.

11 Q Okay. People in chemotherapy have
12 increased risk infection, and in this case Mr. Ortman
13 got a perionychia infection?

14 A Yes. Your question?

15 Q Is that true?

16 A That is what is in the records.

17 Q Okay. Mr. Ortman could have gotten this
18 perionychia infection after his second or third round
19 of chemotherapy, isn't that true?

20 A It is possible.

21 Q What about the hypersplenism, is that
22 from -- he had chemotherapy and because of that he had
23 an enlarged spleen?

24 A That was a finding that was not noted on
25 his prior CT scans and clearly this developed through

1 of disease status?

2 A As I stated earlier, there is a high
3 probability that at two years he is cured. However,
4 the literature shows that there is always the -- the
5 literature shows that there have been cases of late
6 recurrence despite his favorable picture at present.

7 Q Okay. And that was the discussion we had
8 about secondary malignancy; is that correct?

9 A No.

10 Q That's something separate; isn't that
11 correct?

12 A Secondary malignancy is one issue. When I
13 was talking about secondary malignancy, I'm talking
14 about lymph nodes, types of tumors.

15 Q Right.

16 A In terms of recurrence of his testes
17 cancer, he is not out of the woods yet,

18 Q But we discussed although there is a one-
19 to two-year window in which time there is greatest
20 recurrence, if at all, is in that one- to two-year
21 window that there is some evidence that you have
22 discussed in a time period after that?

23 A Most recurrences are during the first two
24 years.

25 Q Okay. I guess the reason I bring this

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 23

Page 89

back up, Doctor, is that I want to know if you're going to offer any other opinions about recurrence of cancer-related diseases that we have not already discussed?

A Right now, I do not -- I am not aware of anything else to the best of my knowledge.

Q Doctor, have you ever had a patient who was diagnosed initially with seminoma who was later found to have embryonal cancer?

A No.

Q Okay, Would you agree that after orchiectomy for treatment of seminoma, the clinical evaluation for possible extragonadal metastatic disease should include quantitative, post-orchiectomy serum radioimmunization of HCG and AFP, chest x-ray films, and abdominal CT scan?

MR. LANDSKRONER: Objection to the form. Go ahead.

THE WITNESS: That is correct. That is what we talked about as follow-up.

Q BY MS. CRISAFI: And in this case after he had his orchiectomy, Mr. Ortman had an HCG and AFP, chest x-ray, and an abdominal CT scan, didn't he?

A As part of his initial staging, that is correct,

Page 91

A To the best of my knowledge, this is the first time. I have given one deposition when I was a resident. And this is the first time I have reviewed a case for a law firm other than the U.S. government.

Q When you reviewed cases for the U.S. government, were you required to give a deposition?

A I never gave a formal deposition for the U.S. government.

Q Did you have to testify in a trial for the U.S. government?

A No.

Q Have you ever testified in a trial for a medical malpractice case?

A No.

Q Have you been sued for medical malpractice?

MR. LANDSKRONER: Objection,

THE WITNESS: No.

Q BY MS. CRISAFI: Have you ever had a claim brought against you for malpractice?

MR. LANDSKRONER: Objection.

THE WITNESS: No.

Q BY MS. CRISAFI: In that instance when you were deposed as a resident, was that as a consultant or because you were involved in an action?

Page 90

Q Doctor, have you ever reviewed a case for Mr. Landskroner in the past?

A No.

Q Have you ever reviewed a case for Landskroner and Phillips or for the Landskroner law firm?

A No.

Q Doctor, what year did you first begin reviewing cases for medical malpractice purposes?

A While I was in the Air Force, the U.S. government asked me to review cases.

Q Were those part of a malpractice litigation?

A I would assume that to be so. However, I do not know, I was just given charts to review.

Q Are you a member of any reviewing agency or a firm that gives lawyers your name as a consultant?

MR. LANDSKRONER: Objection. Go ahead.

THE WITNESS: Not that I'm aware of. If I was I'll get off the mailing list.

MS. CRISAFI: Off the record.

(Whereupon a short discussion was held off the record.)

Q BY MS. CRISAFI: Doctor, what year did you first review a case for a law firm or a lawyer?

Page 92

MR. LANDSKRONER: Objection.

THE WITNESS: My name just happened to be on the chart, but I was in no way involved in the issues.

Q BY MS. CRISAFI: Okay. So it involved care and treatment that you gave although you were not involved in the issues?

A It didn't involve any care that I gave, no.

Q Okay. What I'm trying to distinguish out, Doctor, is whether you were asked to look at a case by an outside institution, or whether it was because your name was on a chart of a patient who brought an action.

MR. LANDSKRONER: Objection. Go ahead.

THE WITNESS: My name was on a chart for a patient that brought an action against the clinic.

Q BY MS. CRISAFI: Okay. Are you currently reviewing other cases for any other lawyers?

MR. LANDSKRONER: Objection.

THE WITNESS: No.

Q BY MS. CRISAFI: Do you know where Mr. Landskroner got your name?

A Yes, I do.

Q Where was that?

A William Lucas.

Q Is he a physician, a lawyer, or do you

DEPOSITION OF MITCHELL C. KAYE, M.D.

SHEET 24

Page 93

1 know?

2 A He's a lawyer.

3 Q Is he a friend of Dr. Malacoplakia?

4 That's all the questions that I have. I
5 appreciate your time this afternoon,

6 (Whereupon Exhibit No. 1 was marked and
7 the deposition concluded at 12:35 o'clock, p.m.)
8
9
10

11 "MITCHELL C. KAYE, M.D."
12 ***
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 94

1 STATE OF ARIZONA)

2 COUNTY OF MARICOPA)

) ss.
3
4

5 BE IT KNOWN that the foregoing testimony was
6 taken before me, CHRISTOPHER J. WHITE, a Notary Public
7 in and for the County of Maricopa, State of Arizona;
8 that the witness before testifying was duly sworn to
9 testify to the whole truth; that the questions
10 propounded to the witness and the answers of the
11 witness thereto were taken down by me in shorthand and
12 thereafter reduced to typewriting under my direction;
13 that the foregoing pages are a true and accurate
14 transcript of all proceedings had upon the taking of
15 said testimony, all done to the best of my skill and
16 ability.

17 I FURTHER CERTIFY that I am in no way related
18 to any of the parties hereto nor am I in any wise
19 interested in the outcome hereof,

20 DATED at Mesa, Arizona, this 7th day of
21 December, 1997,
22

NOTARY PUBLIC

23 My Commission Expires
24 August 4, 1998
25

DEPOSITION OF MITCHELL C. KAYE, M.D.

<div>)</div> <div>JUDGE ⁽¹⁾1:6</div> <div>*</div> <div>*** ⁽¹⁾93:11</div> <div>1</div> <div>1 ⁽²⁾2:19 93:6</div> <div>1-24-96 ⁽¹⁾77:17</div> <div>10 ⁽¹⁾10:9 63:3,5</div> <div>10:05 ⁽³⁾1:20 3:4 4:3</div> <div>100 ⁽⁴⁾10:11 11:18 59:10 79:13</div> <div>10th ⁽²⁾34:23,25</div> <div>12:35 ⁽¹⁾93:7</div> <div>15 ⁽²⁾17:16 52:23</div> <div>15th ⁽¹⁾5:18</div> <div>16th ⁽²⁾73:20 74:3</div> <div>19 ⁽²⁾46:22 71:20</div> <div>1987 ⁽¹⁾63:2</div> <div>1993 ⁽³⁾6:11,13,24</div> <div>1995 ⁽¹⁴⁾16:19 34:20 35:1 36:5 51:4 53:1 58:16,17,23,23 73:20 74:3 85:3,5</div> <div>1996 ⁽¹¹⁾16:19 32:1 52:14,21 55:1 56:2,20 67:9 78:25 79:7 84:19</div> <div>1997 ⁽⁸⁾1:19 3:4 4:2 6:24 12:24 37 9,20 94:21</div> <div>19th ⁽¹⁾12:24 37:9,19</div> <div>2</div> <div>2,500 ⁽¹⁾80:14</div> <div>2.5 ⁽⁹⁾26:18,25 27:4 32:8,18 56:12 58:20 76:11 79:17</div> <div>21st ⁽²⁾36:5 72:6</div> <div>23rd ⁽³⁾16:19 58:16,17</div> <div>24th ⁽⁷⁾16:18 51:14 52:21 55:15 56:20 78:25 79:7</div> <div>25 ⁽¹⁾11:22</div> <div>3</div> <div>30 ⁽²⁾11:7 36:12</div> <div>38 ⁽¹⁾2:19</div> <div>4</div> <div>4-93 ⁽¹⁾2:11</div> <div>40 ⁽¹⁾36:12</div> <div>40-year-old ⁽¹⁾11:8</div> <div>5</div> <div>50 ⁽¹⁾12:1</div> <div>5th ⁽¹⁾34:20</div> <div>6</div> <div>6 ⁽³⁾1:19 3:4 4:2</div> <div>6-23-95 ⁽¹⁾77:16</div> <div>7</div> <div>7200 ⁽¹⁾3:6</div> <div>7331 ⁽¹⁾5:15</div> <div>7th ⁽¹⁾94:20</div> <div>8</div> <div>8-21-95 ⁽¹⁾71:15</div> <div>80 ⁽¹⁾36:19</div> <div>8007 ⁽¹⁾5:19</div> <div>9</div> <div>90 ⁽¹⁾36:19</div> <div>95 ⁽¹⁾72:6</div> <div>96 ⁽⁴⁾49:11 51:10 58:20 77:14</div> <div>A</div> <div>a.m ⁽¹⁾PI 1:20 3:4 4:3</div> <div>abdomen ⁽⁸⁾20:8,19,25 56:6 57:23 72:11,18 73:4</div> <div>abdominal ⁽²⁾89:16,23</div> <div>ability ⁽¹⁾94:16</div> <div>able ⁽⁶⁾22:5 28:8 40:9 42:5 81:7</div> <div>abnormal ⁽⁶⁾48:11,15 49:8 51:7 73:11 77:7</div> <div>abnormalities ⁽²⁾55:11 77:5</div> <div>abnormality ⁽¹⁾49:22</div> <div>above ⁽⁴⁾47:5 57:3,16,23</div> <div>above-mentioned ⁽¹⁾44:13</div> <div>accept ⁽¹⁾15:15</div> <div>acceptable ⁽¹⁾26:15</div> <div>accessible ⁽¹⁾21:4</div> <div>accumulate ⁽¹⁾69:21</div> <div>accurate ⁽⁴⁾7:1,2 19:20 94:13</div> <div>action ⁽³⁾91:25 92:12,15</div> <div>active ⁽¹⁾6:22</div> <div>actual ⁽²⁾16:10 78:25</div> <div>actually ⁽¹⁾78:24</div> <div>add ⁽¹⁾82:10</div> <div>addition ⁽⁴⁾15:1 54:23 64:4 74:12</div> <div>additional ⁽⁹⁾25:18 27:10 39:3 50:13 57:19,21 58:21 81:2,21</div> <div>address ⁽⁴⁾5:14,17,21 9:14</div> <div>addressed ⁽¹⁾85:11</div> <div>adjuvant ⁽⁴⁾27:16 31:20 85:10,16</div> <div>advanced ⁽³⁾19:9 20:3,4</div> <div>advances ⁽¹⁾84:9</div> <div>affect ⁽⁴⁾67:14 82:3,5,9</div> <div>affected ⁽³⁾65:9 66:10 81:3</div> <div>affects ⁽¹⁾67:11</div> <div>AFP ⁽⁹⁾49:2,8,14,18 51:7,14 52:22 89:15,22</div> <div>afternoon ⁽¹⁾93:5</div> <div>age ⁽¹⁾36:11</div> <div>agency ⁽¹⁾90:16</div> <div>agents ⁽⁵⁾41:6 64:2 67:24 81:4 82:11</div> <div>aggressive ⁽⁵⁾41:24 47:15,20 61:7,13</div> <div>ago ⁽⁴⁾4:13 16:9 51:22 79:2</div> <div>agree ⁽¹⁸⁾32:19 33:10 34:3,9 35:3 36:14,19,22,25 37:5 47:14 75:9 76:1 68:4,10,14 87:9 89:11</div> <div>ahead ⁽²⁾18:13 25:2 29:5 30:1,10 32:10 38:18 56:16 58:25 59:6 60:17 67:2 70:21 73:3 75:12 79:25 81:17 82:23 89:18 90:18 92:13</div> <div>Air ⁽¹⁵⁾5:22,23,24 6:1,15,17,21,25,25 7:4 9:12,16,18,23 90:10</div> <div>al ⁽²⁾1:4,10</div> <div>ALBERHASKY ⁽⁴⁾1:9 4:16 13:15 40:8</div> <div>Alberhasky's ⁽¹⁾PI 15:1</div> <div>allergy ⁽¹⁾65:22</div> <div>almost ⁽²⁾46:10 80:6</div> <div>already ⁽⁸⁾39:10 47:3 53:11 60:8 80:16 81:4 82:7 89:3</div> <div>altered ⁽²⁾86:6 87:10</div> <div>altering ⁽¹⁾87:4</div> <div>although ⁽⁴⁾4:24 70:6 88:18 92:5</div> <div>America ⁽²⁾43:22 68:16</div> <div>amount ⁽³⁾39:8 62:4 81:4</div> <div>amounts ⁽¹⁾57:19</div> <div>Andrews ⁽⁵⁾5:22 6:25,25 9:18,21</div> <div>anecdotal ⁽²⁾70:12,15</div> <div>another ⁽⁵⁾19:15 33:25 58:13 63:5 82:2</div> <div>answer ⁽²⁴⁾4:18 5:6,8 8:21 10:12 21:20 23:2 25:2,6 29:4,25 30:2 39:8 58:12 59:7,12 61:19 65:12 69:22 73:8 77:12 79:9,13 85:12</div> <div>answered ⁽¹⁾30:17</div> <div>answering ⁽¹⁾38:19</div> <div>answers ⁽¹⁾94:10</div> <div>antibiotics ⁽²⁾63:14 66:4</div> <div>anticipate ⁽¹⁾81:15</div> <div>anticipated ⁽²⁾57:14 73:16</div> <div>anybody ⁽²⁾80:12 83:23</div> <div>apparently ⁽¹⁾57:3</div> <div>appear ⁽¹⁾14:7</div> <div>appearance ⁽¹⁾48:14</div> <div>appeared ⁽¹⁾57:22</div> <div>appears ⁽¹⁾50:23</div> <div>appetite ⁽¹⁾76:22</div> <div>apple ⁽¹⁾31:6</div> <div>appreciate ⁽²⁾61:18 93:5</div> <div>approach ⁽¹⁾33:21</div> <div>approaching ⁽¹⁾33:16</div> <div>appropriate ⁽¹¹⁾19:8,11,12 25:10,14 35:9 42:13,22 43:1 45:21 73:1</div> <div>approximately ⁽⁸⁾12:1 17:16 45:18 46:1 77:18 78:7,8 80:14</div> <div>area ⁽¹⁾25:12</div> <div>areas ⁽⁸⁾10:5 50:24 54:15,19,24 55:5 56:14 86:9</div> <div>Arizona ⁽¹²⁾1:18 3:6,8 4:1 5:16,25 6:3 7:4,14 94:1,7,20</div> <div>arrive ⁽¹⁾33:12</div> <div>Arthur ⁽¹⁾4:14</div> <div>article ⁽⁸⁾8:3,6,11 68:9,12,19,25 69:6</div> <div>articles ⁽²⁾44:15 69:3</div> <div>assistance ⁽¹⁾38:6</div> <div>associated ⁽¹⁾13:11</div> <div>assume ⁽⁸⁾5:7 18:18 21:9 23:23 52:20 71:19 78:8 90:14</div> <div>assumption ⁽²⁾52:24 71:23</div> <div>attended ⁽¹⁾41:5</div> <div>attorneys ⁽²⁾3:11,14</div> <div>AUA ⁽²⁾43:22 68:15</div> <div>August ⁽⁹⁾36:5 50:8,10 51:4 53:1 72:6 73:19 74:3 80:4</div> <div>author ⁽¹⁾37:13</div> <div>available ⁽²⁾42:3 83:2</div> <div>Avenue ⁽¹⁾5:15</div> <div>aware ⁽⁷⁾17:8 26:15 41:4 64:5 68:6 89:5 90:19</div> <div>awhile ⁽¹⁾51:21</div> <div>B</div> <div>back ⁽¹³⁾6:15 7:1 10:21,22 14:20 32:22 49:25 63:6 70:6 76:3 78:13 79:22 89:1</div> <div>Basa ⁽²⁵⁾4:14 13:6 34:19 36:5,7 49:3,5,12,14,21,25 51:3 60:9,13 71:19,24 73:23 74:4,12,17,19 75:9 77:20 78:11,15</div> <div>Basa's ⁽⁹⁾13:23 15:1 43:1 60:25 71:15 72:2,7 73:21 78:3</div> <div>Base ⁽⁶⁾5:22 6:25 7:4 9:13,19 82:24</div> <div>based ⁽⁴⁶⁾15:10,14 18:15 20:22 22:15 23:3 24:3,7 25:7 26:6 29:6 30:3,17 33:2,3,4,5 34:15 35:3 51:2 55:21,23 56:11,22,22,25 57:7,20 58:3,19 62:21 63:25 69:10,25 70:9 71:23 72:9 74:16 77:15,20 81:14 84:20,21,21 85:8,12</div> <div>baseline ⁽¹⁾81:20</div> <div>basic ⁽²⁾10:2 35:22</div> <div>basically ⁽⁴⁾12:9 38:19 54:14 80:5</div> <div>basis ⁽⁴⁾42:1 50:21 61:21,24</div> <div>became ⁽³⁾53:9,21 75:18</div> <div>become ⁽³⁾30:8 50:25 68:6</div> <div>began ⁽¹⁾63:2</div> <div>begin ⁽²⁾69:21 90:8</div> <div>behind ⁽¹⁾35:19</div> <div>believe ⁽¹⁶⁾13:16,22 14:2 16:11 17:11 39:1 49:11 51:21 53:12 64:19,20,21 74:21 79:2 85:22 86:2</div> <div>below ⁽²⁾44:17 77:21</div> <div>benefit ⁽²⁾27:18 66:1</div> <div>BEP ⁽⁶⁾26:22 30:9 64:10 65:3 87:12,17</div> <div>best ⁽¹²⁾24:12 25:22 49:20 55:7 56:8 64:17 77:12 83:22 84:21 89:6 91:1 94:15</div> <div>Bethesda ⁽¹⁾9:20</div> <div>between ⁽¹⁰⁾6:13,24 7:3 43:11 47:11 54:16 58:1 66:21 78:9 82:21</div> <div>beyond ⁽¹⁾38:23</div> <div>big ⁽³⁾9:6 80:19,20</div> <div>binders ⁽³⁾12:18,25 14:6</div> <div>bit ⁽¹⁾40:22</div> <div>bladder ⁽¹⁾8:1</div> <div>blood ⁽⁸⁾20:11,20 45:25 48:15 63:12 75:5 87:5,10</div> <div>board ⁽³⁾7:21 33:7 35:21</div> <div>boards ⁽¹⁾7:18</div> <div>bone ⁽⁵⁾64:4,18,25 65:3,7</div> <div>book ⁽⁴⁾8:15,17,22 44:20</div> <div>books ⁽¹⁾44:15</div> <div>both ⁽⁷⁾10:4,25 16:22 20:12 47:18 49:3 84:22</div> <div>BOYKO ⁽¹⁾1:6</div> <div>Brant ⁽¹⁾5:20</div> <div>brief ⁽¹⁾18:4</div> <div>briefly ⁽⁷⁾4:13,24 16:5 17:14,19,20 79:3</div> <div>bring ⁽²⁾14:19 88:25</div> <div>broad ⁽²⁾64:1,2</div> <div>brought ⁽³⁾91:20 92:12,15</div> <div>bulky ⁽²²⁾24:12,14,22 25:3,7 29:8,12 30:13,17 31:12 32:4 53:10,22,23,25 54:4,9,13,17,25 55:3 56:11</div> <div>burden ⁽³⁾41:22 62:6,3,8</div> <div>C</div> <div>call ⁽²⁾12:4 57:9</div> <div>called ⁽²⁾4:7,14</div> <div>came ⁽²⁾37:24 38:2</div> <div>Campbell's ⁽²⁾43:21 44:20</div> <div>cancer ⁽⁴⁹⁾8:4,11 10:23 12:10,14 18:22 19:19,25 20:5,17 21:11,14 22:2,25 24:15 26:19 27:9 28:5,16,21 36:24 41:19 43:6,8,10 44:22,24 46:11,19 47:1,15,20 62:19,22,25 67:8,13 72:20 73:7 80:10 83:19,20,21 84:5,8,16 87:22 88:17 89:9</div>

WHITE & ASSOCIATES

DEPOSITION OF MITCHELL C. KAYE, M.D.

cancer-related [1] 89:3 candidate [1] 19:6 cannot [1] 10:12 11:2,4 30:4 31:11 51:6 59:10,12 67:3 68:10 69:5 capabilities [1] 9:21 capability [1] 35:24 carcinoma [3] 7:25 21:25 23:12 cardiopulmonary [2] 64:3,14 care [22] 19:17 24:1 25:20 32:24, 25 33:6,14 34:11,19 35:18,25 41:44:17 46:6,8 50:13,17 53:12 75:277:21 92:4,7 case [32] 12:3,7,10 13:10 15:2 17:23 18:15 22:19 24:19 25:7 37:10 49:2 54:1,5,8 60:14 61:6 62:16,18 75:23 76:5,10,17 80:13 86:12 89:21 90:1,4,25 91:4,13 92:10 cases [12] 22:8,14 44:21 67:14,18 69:17 70:8 88:5 90:9,11 91:5 92:17 CAT [2] 48:13 71:10 causal [1] 66:21 cause [2] 65:22 77:7 caveat [1] 73:13 cell [26] 7:25 8:4 10:22 11:1,11 18:21 21:10,14 22:2,25 24:15 26:19 27:9 28:5 43:12 47:1,14,24 62:19 64:21 65:8,9 66:6,9 84:5,16 cells [3] 11:9 20:15 47:19 Center [7] 9:3,17,20,20 13:21 14:1 46:11 centimeter [8] 26:18,25 27:5 28:3 32:8,18 56:12 76:12 centimeters [8] 48:18,21 54:7,17,18,23 58:20 79:17 certain [6] 9:19 54:3 61:11 63:13 68:18 86:9 Certainly [13] 25:20 26:8 58:6,7 65:20 66:17 76:24 77:5 80:12,19 81:3,18 82:3 certainty [2] 59:10 79:14 certification [1] 35:22 certified [2] 7:21 33:7 CERTIFY [1] 94:17 cervical [1] 72:14 chain [3] 65:21,24 66:2 chance [1] 15:2 chances [1] 41:23 change [1] 48:14 hanging [1] 71:10 chapter [4] 8:15,18,23 44:20 chart [7] 13:23 73:21 77:11 85:25 92:3,12,14 charts [2] 77:15 90:15 chemotherapeutic [3] 64:2 67:24 81:4 chemotherapy [95] 19:19,22,23 20:1 21:22 22:16,18,23 23:1,5,6,13,22 24:16 25:9,14,15,17,19,25 26:4,10,21,23,23 27:17 28:2 29:14 17,19,24 30:9,25 31:3,17,21 39:8,14 41:6 57:5,9,12,14,19 59:20 61:5,9,10,14 62:1,4,7,11 63:12,22,23,24 64:22 65:4,18,22 66:4,10,16,18 57:1,10 80:9,13 81:20 82:8,16,17 33:1,8,14,17,24 84:5,15,25 85:3,11,14,16,20 86:4,7,11,19,22 87:2,4,10,12	chest [24] 20:9,19,25 45:17 46:148:3,11,13,22 53:14 54:20 55:10,18 58:10 60:18 71:10,11 75:6,20,24 78:5 84:21 89:15,23 chief [1] 6:12 choice [4] 34:25 36:14 84:15,25 choose [1] 33:24 CHRISTOPHER [3] 1:6 3:7 94:6 Cindy [1] 23:24 circumstance [1] 33:19 circumstances [3] 21:23 33:10,11 cite [1] 68:8 Civil [1] 3:9 claim [1] 91:19 clarification [1] 61:20 clarify [2] 26:24 30:11 clear [4] 29:3 46:15 65:13 67:21 clearly [4] 41:21 42:21 57:2 59:1,2 65:21 86:5,25 87:4 Cleveland [4] 6:4,7,9 13:10 Clinic [6] 6:7,10,11 8:8 13:11 92:15 clinical [9] 11:17 24:9 42:2 70:17 71:1,3,25 78:3 89:12 clinically [2] 11:19 48:11 Clinics [2] 43:22 68:15 close [5] 11:15 42:9,12 45:5 70:19 closely [1] 25:9 closeness [1] 46:25 coauthored [2] 8:6,15 combination [1] 63:21 some [5] 10:21,22 39:7 62:14 87:10 somment [2] 25:13 40:1 commenting [1] 26:10 comments [1] 72:15 Commission [1] 94:23 commitment [2] 6:1,15 ZOMMON [9] 1:1 11:3,5,8,11,12,14 36:9 64:5 community [1] 75:22 compares [1] 79:23 comparing [1] 31:6 complaints [1] 74:7 complete [6] 14:9,13,17 15:7,9 30:22 completed [2] 40:18 71:20 completion [2] 77:16,18 complicate [1] 81:21 complication [2] 65:24 83:12 complications [7] 59:20 63:7,9,10 64:8 76:25 80:15 comply [1] 43:1 composed [1] 14:25 imposes [1] 15:4 comprise [2] 54:13 55:2 concern [2] 80:20,21 concerning [1] 39:7 concluded [1] 93:7 conclusions [1] 13:13 conditions [1] 28:20 Conference [1] 3:5 confirmed [5] 23:11 27:16 31:19 35:7,11 confuse [3] 31:11,13 32:9 Connell [1] 23:24 24:3 31:24 consider [4] 27:13,16 34:4,10	consideration [2] 25:2 28:21 considered [1] 54:24 considers [1] 34:6 consisted [1] 31:21 consistent [1] 33:20 constellation [1] 34:4 consult [2] 25:17 43:24 consultant [2] 90:17 91:24 consultants [1] 13:9 contact [1] 38:5 contained [1] 12:19 context [1] 63:8 continued [1] 41:14 continuing [2] 41:5,8 contraindicated [1] 44:16 contralateral [1] 73:5 contrary [2] 43:2,15 contributed [1] 87:7 conversation [1] 12:15 copy [7] 15:13,21 37:15,18 38:8 51:24 52:18 correct [74] 6:8,10,22 15:17 18:7,20,23 20:21,22 22:13 23:15 27:6 31:10,11 32:21 33:16,23 34:2,14,17 35:17,21 36:3,17,18 37:7,8,23 47:2,18,21,25 48:23 49:1,3,19,23,24 51:11,12 52:12 53:16,17,20 54:25 56:15,17 60:11,12,16,19,22,24 61:15 63:3,5 64:11,12 65:6 72:6 73:18 74:15 75:13 76:17 77:23,25 78:22 79:1 80:4 84:3 88:8,11 89:19,25 correctly [3] 62:10,13 78:20 correspondence [2] 73:24,24 Cosgrove [2] 8:7,8 counsel [1] 4:14 count [8] 63:12 64:21,23 65:8,9 66:6 87:5,10 country [1] 46:12 counts [1] 66:9 COUNTY [4] 1:2 3:8 94:2,7 couple [1] 12:18 course [5] 20:13 33:12 56:25 76:16 87:1 courses [2] 22:25 24:16 ZOURT [3] 1:1 5:20 38:9 courtesy [1] 9:4 covered [1] 68:17 Craig [1] 5:13 Crisafi [68] 2:11 3:15 4:11,13 14:15 15:10,18,23 16:2,15,24 17:6 18:17 23:14 24:20 25:11 28:23 29:10 30:5,15,19,23 32:17 38:4,8,13,16,21 39:2,12 40:13,16 49:5 50:6,11 51:9,17,19 52:1,19 55:23 56:18 59:4,9 60:21 61:18 67:4 70:25 73:8,23 74:2 75:14 78:15 79:19 80:1 81:23 82:20,24 84:10,14 89:21 90:21,24 91:19,23 92:4,16,20 critical [2] 31:24 60:9 criticism [1] 60:13 criticisms [3] 34:19,24 36:4 CT [4] 16:12,19,19 20:25 21:2,3 24:11 32:12 45:17 46:1,22 48:3,20 53:14 55:18 56:6,12 57:22 58:10 60:16 71:12 75:5,19,23 77:9,21 78:5,6,9,16,21,25 79:5,7,16,21 80:3 85:12 86:25 89:16,23	Cumulative [9] 81:5 82:10,16,21 87:5,6,11,14,16 cure [1] 84:8 cured [2] 36:20 88:3 curiosity [1] 39:24 current [4] 8:14 34:5,12,12 currently [8] 7:9,12 8:25 52:15 67:9 68:13 69:10 92:16 CUYAHOGA [1] 1:2 cycle [1] 25:4 cycles [17] 23:6,13,18,21 24:25 25:5 26:22 29:16,19,24 30:9 31:22 62:12 65:3 66:7 67:1 87:17
D			
damages [2] 80:23 82:12 data [2] 12:22 69:21 date [3] 34:21,22 77:16 DATED [1] 94:20 day [3] 11:21 41:15 94:20 day-to-day [1] 11:20 deal [1] 41:11 dealing [1] 46:11 decades [1] 84:9 December [5] 1:19 3:4 4:2 67:9 94:21 deciding [1] 25:18 decision [2] 25:24 85:10 declared [1] 46:23 Defendants [3] 1:12 3:13 4:14 definition [1] 32:23 degree [1] 54:10 delay [1] 24:18 delayed [1] 67:15 deliver [1] 19:21 delve [1] 44:6 demand [1] 35:25 demonstrated [1] 69:12 densities [5] 27:10 56:13,13 57:22 58:22 department [1] 6:12 depend [1] 42:8 dependent [3] 19:2 36:16 42:11 depending [4] 20:2 22:5 54:5 62:12 depends [2] 21:18 22:3 depletion [15] 64:4,18,24 65:2,7 deposed [1] 91:24 DEPOSITION [21] 1:16 3:2,21,23 4:22 13:14,17 15:1,2 16:3,16 17:1 38:14 51:20 52:3,8 60:25 91:2,6,7 93:7 depositions [2] 12:19 13:5 described [1] 7:24 description [1] 25:7 despite [3] 67:15,19 88:6 detect [5] 42:6 45:9 48:5,7,11 detected [2] 51:8 62:8 detectable [6] 58:10,15,17,22 59:2,5 detection [1] 42:10 determining [1] 25:22 develop [5] 81:1,8,19,25 82:2 developed [1] 86:25 development [2] 48:12 71:13 devote [1] 11:18 diagnose [2] 21:10,13 diagnosed [8] 22:2,24 46:16 59:17,22 60:3,6 89:8			

WHITE & ASSOCIATES

DEPOSITION OF MITCHELL C. KAYE, M.D.

<p>diagnosis [18] 18:18,24 19:14 23:8 24:18 26:19 34:12 35:7,10 36:1 41:2,17 42:4,5 45:7 60:10 62:3,18</p> <p>diagnostic [1] 42:13</p> <p>diaphragm [4] 47:6 57:4,16,24</p> <p>difference [6] 41:18,20 56:19,23,24,24 76:7,15</p> <p>different VI 14:10 33:12,15 34:16 42:20 61:8 85:21</p> <p>differently [1] 33:25</p> <p>difficult [3] 25:6 42:22 58:12</p> <p>difficulties [2] 82:15 84:1</p> <p>difficulty [6] 66:8,10,15,25 83:7,8</p> <p>direction [1] 94:12</p> <p>disagree [1] 79:6</p> <p>discuss [1] 17:23</p> <p>discussed [11] 36:17 60:8 61:19 62:15 77:24 80:1,2,17 88:18,22 89:4</p> <p>discussion [5] 8:3 40:14 78:1 88:7 90:22</p> <p>discussions [1] 8:10</p> <p>disease [57] 19:3,10 21:19 24:24 25:4 26:22 27:6,14,16 29:9,12,16,24 30:8,13,14,18,20 31:3,7,12,15,19 32:4,7,13,16,19 41:18 45:9 46:23 47:4,7 48:6,8,13,17 49:9 53:10,22,24,25 54:9,13 55:3 56:11 57:3,17,23 71:8,22 74:13 78:19 84:20,24 88:1 89:13</p> <p>disease-free [1] 70:19</p> <p>diseases [1] 89:3</p> <p>disputing [1] 80:21</p> <p>dissection [22] 21:7 22:9,20 23:10,15,17,20 24:4,5,23 27:15,19 28:10,18 29:11,13 31:4,9,16,25 85:6,17</p> <p>distinction [2] 43:11,14</p> <p>distinguish [1] 92:9</p> <p>Doctor [83] 8:24 9:15 11:16 12:2 13:2 14:3 15:13 16:2,18 18:17,24 19:13 21:6 22:22 23:23 25:11,17 26:17 27:18 28:11 29:21 30:2 31:23 32:17 34:3,9,18 35:15 37:5 38:16,21 40:3 41:20 42:14 45:11 49:2,6 50:11,17 51:2 52:1,19,24 53:23 54:3,8 55:5,9,23 56:18 57:7 58:3 61:2,18 63:23 64:13 65:2,16 66:5,12,24 67:4 70:17 74:2 76:5,10,18 77:8 78:24 80:7 81:7,24 82:24 83:6 84:4 85:19 87:20 89:1,7 90:1,8,24 92:10</p> <p>doctors [1] 25:18</p> <p>document [1] 72:15</p> <p>documentation [2] 72:18,21</p> <p>documented [7] 44:25 59:20 63:6,8 69:8 72:10 73:14</p> <p>documents [4] 15:21 60:22,23 63:16</p> <p>doing [2] 11:17 21:4</p> <p>Donald [1] 17:9</p> <p>done [14] 10:6,13 22:10 28:18 29:10 40:19 46:4,5 58:1 63:24 76:13 77:13 80:6 94:15</p> <p>dose [2] 82:10 87:14</p> <p>doses [2] 64:10 81:5</p> <p>double-checking [1] 85:22</p> <p>down [4] 5:9 81:2,8 94:11</p>	<p>downtown [1] 9:5</p> <p>dramatic [1] 84:8</p> <p>draw [2] 49:5,14</p> <p>drawing [2] 43:11,14</p> <p>drawn [8] 49:16 50:13,18 51:3,10 53:1 75:10 76:15</p> <p>drew [1] 49:3</p> <p>drug [1] 61:8</p> <p>due [3] 66:14 82:15,17</p> <p>duly [2] 4:7 94:8</p> <p>during [6] 45:6,7,12 63:1 67:17 88:23</p> <p>duty [1] 6:23</p>	<p>exam [20] 21:3 45:20 46:7 48:4,11 53:15 60:16 71:1,7,9,12 72:10,17 73:4,6,6,11,18 76:1,14</p> <p>EXAMINATION [4] 2:8,13 4:10 48:10</p> <p>examine [1] 79:21</p> <p>examined [1] 4:8</p> <p>example [12] 9:22 13:6 33:5,24 45:16 48:10,12 54:20 57:15 67:23 71:14 82:3</p> <p>exams [1] 46:3</p> <p>excellent [1] 84:5</p> <p>Except [7] 16:24 37:9 39:17 55:24 64:23 81:13 82:14</p> <p>exception [4] 14:22 15:6,12,25</p> <p>Executive [1] 3:5</p> <p>exhaustively [1] 77:24</p> <p>Exhibit [3] 2:19 15:5 93:6</p> <p>exhibits [1] 14:22</p> <p>expect [4] 57:13 70:18,24 71:1</p> <p>expected [1] 72:3</p> <p>experience [16] 24:15 26:17,20 40:5,17 42:2 57:1,21 58:19 62:21 63:25 70:10 81:15 83:6,11 84:1</p> <p>expert [3] 39:19,21,25</p> <p>expertise [3] 25:21 39:9,16</p> <p>Expires [1] 94:23</p> <p>explain [2] 9:12 19:4</p> <p>expose [2] 41:23 59:19</p> <p>exposed [2] 80:13 87:19</p> <p>exposure [3] 63:1 65:20 82:11</p> <p>expressed [1] 38:24</p> <p>extended [1] 32:3</p> <p>extensive [3] 44:12 57:5,9</p> <p>extent [4] 32:13 39:7 54:10,12</p> <p>extra [1] 38:8</p> <p>extragonadal [1] 89:13</p>	<p>findings [17] 18:12 20:23 28:3,15 35:16 56:11 58:23 70:17 71:11,25 72:3,25 75:15 77:2 79:6 80:2 85:9</p> <p>fine [1] 5:5</p> <p>finger [1] 66:13</p> <p>finger nail [1] 63:14</p> <p>finished [1] 6:11</p> <p>finishing [1] 71:2</p> <p>Firm [5] 3:11 90:6,17,25 91:4</p> <p>first [33] 4:7 12:2 23:9 27:20 28:8,18 29:7,11 31:3,5,9 39:18 42:17 43:17 45:6,8,12 53:11 58:9 59:21 63:1 66:14 67:18 70:1,7 77:13 79:6 83:9 88:23 90:8,25 91:2,3</p> <p>five [5] 54:6,17,18 70:15 77:19</p> <p>follow [5] 21:20 46:24,25 47:8,22</p> <p>follow-up [37] 32:3 33:25 34:6,11 42:9,12,16,18,23,25 43:15 44:16,22 45:2,5 46:2 50:4 53:8,13,19 60:14,19 61:22,25 62:14,17 72:22 73:7 74:20,21 75:21,24 76:21 77:21 78:4,16 89:20</p> <p>followed [3] 12:12 25:4,8</p> <p>followed-up [2] 60:1,4</p> <p>following [2] 20:12 62:6</p> <p>follows [1] 4:8</p> <p>Force [15] 5:22,23,24 6:1,15,17,21 25:25 7:4 9:12,16,18,24 90:10</p> <p>foregoing [2] 94:5,13</p> <p>form [3] 18:15 63:4 89:17</p> <p>formal [1] 91:7</p> <p>formalities [1] 3:22</p> <p>format [1] 48:3</p> <p>forms [5] 19:22,23 43:7,9 47:18</p> <p>forward [1] 25:18</p> <p>found [4] 68:2,25 80:10 89:9</p> <p>Foundation [1] 6:7</p> <p>four [13] 22:25 23:6,13,18,21 24:25 25:5 29:19 45:6,12 52:22 70:5 81:11</p> <p>four-year [1] 69:18</p> <p>fourth [1] 85:20</p> <p>free [7] 24:24 26:22 29:16,24 30:8 46:23 71:22</p> <p>frequency [1] 47:23</p> <p>Frequent [4] 45:6,11 46:16 48:2</p> <p>frequently [1] 68:16</p> <p>friend [1] 93:3</p> <p>front [7] 14:3 37:15 52:18 55:22 56:9 79:10,13</p> <p>full [2] 5:12 9:25</p> <p>fund [2] 44:2 68:22</p> <p>further [6] 25:12 38:6 72:22 77:3 81:20 94:17</p> <p>future [8] 63:19,20 80:8,15,22,23 81:22,25</p>
E			
E_X_H_I_B_I_T_S [1] 2:16			
<p>each [6] 25:4,7,23 33:12,13 34:15</p> <p>earlier [14] 32:11 41:21 51:8 57:6 61:19 70:6 74:20 78:6,10,17,22 79:3 80:7 88:2</p> <p>earliest [1] 42:6</p> <p>Early [9] 41:17 42:4,5,10,15 67:15,20 69:13 70:4</p> <p>East [1] 5:15</p> <p>eating [1] 74:7</p> <p>educate [1] 48:17</p> <p>education [2] 41:6,8</p> <p>effect [5] 82:25 87:5,6,11,16</p> <p>effects [5] 63:12,24 64:5 65:5 85:19</p> <p>eight [2] 49:12 78:8</p> <p>either [5] 5:2 14:19 19:13,14,19</p> <p>elevated [6] 49:15,17 51:7 52:13 75:8,11</p> <p>elevation [3] 48:16,25 50:18</p> <p>elevations [1] 50:16</p> <p>embryonal [28] 8:4,11 10:23 11:9 18:21 20:14 21:10,13,25 22:2,8,25 23:11 24:15 26:19 27:9 28:5,16 30:22 43:12 47:1,14,19,24 62:19 84:5,15 89:9</p> <p>end [1] 38:13</p> <p>ended [1] 77:9</p> <p>enhance [1] 81:6</p> <p>enlarged [3] 56:1 85:15 86:23</p> <p>enlargement [6] 54:1,4,19,23 55:6 71:13</p> <p>enlarging [1] 48:14</p> <p>enough [2] 80:19,20</p> <p>ensure [1] 25:10</p> <p>entire [2] 62:16,18</p> <p>equally [1] 11:4</p> <p>essential [2] 45:8 48:5</p> <p>Essentially [1] 26:2</p> <p>establish [1] 42:16</p> <p>established [1] 75:7</p> <p>et [2] 1:4,10</p> <p>evaluate [2] 20:19,24</p> <p>evaluation [3] 20:8 21:3 89:13</p> <p>even [6] 37:6 40:3 42:14 47:2 50:23 75:9</p> <p>events [3] 65:21,25 66:2</p> <p>Everything [3] 45:4 52:9 64:3</p> <p>evidence [15] 27:6,12 29:8 49:21 64:13,24 67:21 68:3 71:7 74:13,24 77:3 85:23 87:25 88:21</p> <p>evidenced [1] 65:8</p> <p>exact [2] 11:1 32:15</p> <p>exactly [2] 14:16 68:1</p>			
F			
<p>fact [5] 59:23 60:8 76:13 81:19 82:7</p> <p>factors [2] 25:1 34:10</p> <p>failed [1] 70:23</p> <p>failure [1] 53:8</p> <p>fair [6] 5:10,11 6:16 18:5 28:11 58:8</p> <p>Fairfax [3] 5:20 9:11,14</p> <p>fairly [1] 1:4</p> <p>familiar [1] 140:20</p> <p>familiarity [1] 40:23</p> <p>far [3] 19:18 53:13 60:15</p> <p>favorable [2] 67:19 88:6</p> <p>fax [2] 37:24 38:2</p> <p>February [1] 51:10</p> <p>felt [1] 19:6</p> <p>female [1] 10:2</p> <p>fever [1] 74:8</p> <p>few [4] 4:13,17 38:10 70:16</p> <p>field [2] 39:19,22</p> <p>fields [1] 39:24</p> <p>fighting [3] 66:8,11,15</p> <p>filing [1] 13:22</p> <p>film [1] 78:25</p> <p>films [1] 89:15</p> <p>final [1] 69:22</p> <p>find [8] 39:2 41:21 42:5 44:14 56:4 71:1 77:6 78:2</p> <p>finding [8] 10:16,19 11:8,12,14 27 97:9 16 86:24</p>			
G			
<p>gaps [1] 7:6</p> <p>gave [5] 52:4 74:17 91:7 92:5,7</p> <p>general [11] 25:7 31:2 33:17,18 34:7 47:17 51:17,20 81:10 83:19 84:7</p> <p>generalities [1] 33:15</p> <p>Generally [4] 31:21 36:13 44:11 47:19</p> <p>genitourinary [1] 18:14</p> <p>George [1] 79:8</p> <p>Georgetown [2] 6:17,18</p>			

WHITE & ASSOCIATES

DEPOSITION OF MITCHELL C. KAYE, M.D.

germ [2] 11:1,11
 getting [2] 76:19 80:24
 Gillenwater [1] 43:21
 give [9] 5:6,8,12 9:22 30:4 32:23
 62:5 69:5 91:6
 given [9] 31:3 33:9 36:1 59:24 60:
 10 61:10,14 90:15 91:2
 gives [1] 90:17
 giving [1] 52:2
 Gold [1] 43:23
 got [7] 15:11 18:6 32:3 80:24 82:18
 86:13 92:21
 gotten [1] 86:17
 government [5] 90:11 91:4,6,8,
 10
 greater [2] 54:18 79:21
 greatest [1] 88:19
 Green's [2] 16:16 17:7
 group [1] 36:11
 Grove [1] 9:17
 grow [1] 58:5
 growing [1] 69:19
 growth [1] 58:13
 growths [1] 58:5
 guess [2] 68:24 88:25
 guessing [1] 59:1

H

hair [2] 64:6,10
 half [5] 54:16 67:6,10 81:11 87:25
 hand [1] 4:20
 handle [2] 22:6 81:24
 hands [3] 23:9,19 31:3
 Hang [1] 34:22
 happen [1] 66:7
 happened [2] 87:16 92:2
 hard [1] 10:17
 HCG [7] 49:2,14,18 51:15 52:21 89:
 15,22
 head [2] 4:19,19
 health [1] 6:19
 hear [1] 31:8
 held [2] 40:14 90:22
 hematology [1] 41:11
 hematology/oncology [2] 40:
 17,19
 herein [1] 4:7
 hereof [1] 94:19
 hereto [1] 94:18
 high [2] 87:7 88:2
 higher [1] 66:18
 histology [1] 46:20
 history [2] 34:5,12
 hold [4] 39:17,18,21,25
 home [2] 5:21 9:14
 homogeneous [1] 50:24
 Hospital [1] 9:1,2,3,6,13,18 12:
 20 13:11 14:23 23:25 63:16
 hospitals [3] 8:24 9:16 86:9
 Hotel [1] 3:6
 hour [1] 17:21
 However [1] 6:22 10:24 11:11
 19:16 35:21 40:20 41:7 45:15 47:
 17 51:6,21 67:18 69:19 73:6 87:18
 88:3 90:14
 hypersplenism [6] 63:15 66:3
 85:23 86:3,21 87:8

i.e. [1] 76:13
 I_N_D_E_X [1] 2:2
 idea [1] 33:20
 ignored [1] 76:3
 II [12] 24:12,14,22 25:4 27:14 32:7,
 19 36:20 37:2,4 70:25 71:20
 II-A [8] 23:4,9,11,17 46:17,21 71:3
 76:20
 Imaging [2] 14:1 72:23
 immunity [1] 86:6
 important [3] 20:12 47:2 72:19
 incidence [2] 36:23 37:6
 incidentally [1] 16:13
 include [5] 37:1 41:1 43:7 64:2 89:
 14
 included [5] 12:19 13:5,8,9 58:21
 includes [3] 35:22 37:3 43:9
 including [6] 10:1 13:10 14:24 34:
 11 63:11 65:7
 incomplete [1] 73:6
 incorrect [1] 21:23
 increase [4] 76:22 87:13,14,19
 increased [1] 86:12
 increases [1] 41:23
 Indian [2] 9:2,3
 Indiana [1] 45:15
 indicate [4] 44:21 50:7 72:25 73:
 15
 indicated [5] 20:10,23 21:8 29:13
 73:13
 indicates [1] 8:13
 individually [1] 25:8
 infection [8] 66:8,14,15,18 86:5,
 12,13,18
 infections [4] 63:13,14 66:11 86:
 8
 infer [1] 48:20
 infertility [1] 10:2
 information [12] 13:1 27:22,22
 58:3 68:7 69:19 70:4 71:24 74:16
 77:20 83:2 84:22
 informing [1] 35:4
 inguinal [1] 72:14
 initial [13] 12:15 19:6 20:6 21:3 23:
 24 42:25 46:2,19 50:5,18 62:18 79:
 15 89:24
 Initially [7] 12:15 20:9 28:6 52:5
 59:24 85:4 89:8
 injuries [2] 80:23 82:12
 injurious [1] 41:24
 input [1] 26:8
 inquired [1] 39:10
 instance [1] 91:23
 Instead [1] 23:14
 institution [2] 78:3 92:11
 institutions [1] 45:14
 intensive [2] 59:19 62:1
 intention [1] 6:2
 interested [2] 12:5 94:19
 intermittent [1] 8:1
 internship [1] 7:7
 intervals [2] 45:18,25
 investigate [1] 77:3
 investigation [1] 77:6
 involve [1] 92:7
 involved [10] 12:3,20 13:5,9 54:
 11,13 91:25 92:3,4,6
 involvement [1] 57:16

involving [2] 7:25 12:10
 isn't [6] 37:6 49:19 78:11 86:19 87:
 15 88:10
 isolated [2] 55:24 56:14
 isolation [1] 86:9
 issue [4] 31:13 68:18 69:19 88:12
 issues [3] 68:16 92:3,6
 itemized [1] 14:17

J

Jack [6] 3:11 14:15 37:25 39:2 79:
 19 82:20
 jacket [1] 6:5
 Jacobson [1] 3:14
 January [16] 16:18 32:1,14 49:11
 51:10,14 52:14,21 55:15 56:2,20
 58:20 77:14 78:25 79:7 84:19
 Joseph's [1] 9:6
 Journal [4] 43:20,23 44:19 68:8
 journals [2] 43:23 68:19
 judgment [1] 69:13
 July [3] 5:18 58:17,22
 June [5] 12:24 16:19 37:9,19 58:
 16

K

Kalur [1] 3:15
 KAYE [10] 1:16 2:6 3:2 4:5,12 5:13
 14:25 29:4 39:3,8
 keep [1] 37:12
 kidding [1] 40:2
 kind [1] 86:8
 Klein [1] 8:16
 knowing [2] 14:12 27:22
 knowledge [10] 14:9 44:2 49:20
 56:8 64:17 68:22 77:13 83:23 89:6
 91:1
 known [2] 63:24 94:5

L

lab [3] 12:21 13:12 52:15
 laboratory [1] 45:21
 Landskroner [69] 2:13 3:11,12
 12:5 13:4 14:6,21 15:17,19,25 16:
 13,21 17:4,13,18,24 18:9,11,13 23:
 7 24:17 25:1 28:19 29:2 30:1,10
 32:10 38:1,11,15,18 39:6 40:11 49:
 4 50:2,7 51:5,16,18,23 52:17 55:
 20 56:16 58:25 59:6 60:17 61:16
 67:2 70:21 73:3,21 75:12 78:12 79:
 24 81:17 82:23 84:6,13 89:17 90:2,
 5,5,18 91:17,21 92:1,13,18,21
 Landskroner's [1] 37:17
 large [2] 41:12 54:17
 larger [1] 79:16
 last [15] 15:11 16:8 30:16 36:6 38:
 4 49:12 52:1 58:2,16 67:4,5,11 72:
 7 77:10,19
 late [4] 67:19 70:8,11 88:5
 later [1] 89:8
 Law [5] 3:11,23 90:5,25 91:4
 lawyer [3] 90:25 92:25 93:2
 lawyers [2] 90:17 92:17
 Lay [6] 13:18 15:7 64:5 74:6,12 85:
 13
 Lay's [3] 47:10 73:19 74:3
 least [3] 11:24 16:9 29:18
 leave [1] 5:24
 leaving [1] 7:3

less [8] 20:3 41:22 52:21 62:7,11,
 12 67:6 70:15
 letter [4] 17:2 37:21 73:20 74:3
 level [2] 33:3,4
 library [1] 44:12
 licensed [4] 7:9,12,14,16
 likelihood [1] 69:1
 likely [2] 53:3 75:18
 limit [1] 62:4
 limited [2] 57:19 73:11
 limits [1] 73:17
 line [2] 38:4 67:4
 list [1] 90:20
 listed [1] 44:15
 literature [25] 42:3 43:3,16,17
 18 45:1,4,14,24 46:10 54:6 67:
 19,22 68:2,5 69:3,9,14,25 70:9
 12 83:3 88:4,5
 litigation [1] 90:13
 little [3] 34:16 40:22 45:24
 lobe [3] 55:16,25 56:14
 long [5] 5:17 17:15,20 18:8 62:
 65:24 69:21,23
 look [16] 12:11 14:15 15:3 16:
 20:6,18 34:22 50:16 51:13,14
 55:9 64:8,19 77:11 92:10
 looked [5] 13:3 14:16 16:8 23:
 78:24
 looking [8] 52:15 55:8,17,18
 72:5 76:3 85:25
 looks [1] 8:7
 loss [4] 64:6,11 76:22,22
 lost [1] 61:2
 lot [1] 81:6
 low [8] 36:25 37:1,6 64:21,23
 66:6,9
 lower [3] 55:16,25 56:14
 lowest [3] 45:9 48:6,7
 Ltd [1] 3:11
 Lucas [1] 92:24
 lymph [34] 21:6 22:9,20 23:
 16,20 24:4,5 27:5,15,19 28:
 29:11,13 31:4,9,15,25 48:1
 1 55:6 56:1 71:13 72:15,19
 85:6,9,15,17 88:14

M

M.D. [7] 1:9,16 3:2 4:5,15 1:
 8
 ma'am [4] 35:2 62:20,23 7:
 made [9] 15:12 16:1 18:24
 20 68:19,21 76:7 84:8
 mailing [1] 90:20
 main [1] 60:13
 Mainly [1] 6:4
 maintain [3] 19:16 44:1,
 major [4] 7:24 45:14 46:1
 majority [1] 9:22
 Malacoplakia [4] 8:16,
 3
 Malcom [1] 9:17
 male [1] 36:12
 males [1] 11:8
 malignancies [10] 26:
 21,25 41:9,14 67:22 68:
 14
 malignancy [14] 67:25
 15,23 70:3 80:16 81:10,
 19 88:8,12,13

DEPOSITION OF MITCHELL C. KAYE, M.D.

<p>malignant ⁽¹⁾69:23 malpractice ⁽⁵⁾90:9,12 91:13,16 20 managed ⁽⁷⁾59:17,22 60:3,6 62:9,12,13 management ⁽⁵⁾26:13 34:15 41:2,9,13 managing ⁽²⁾43:2 62:22 manifest ⁽¹⁾69:16 manner ⁽¹⁾59:16 many ⁽⁷⁾10:6 23:4 24:16 25:25 26:4 31:1 40:25 Maricopa ⁽³⁾3:8 94:2,7 Marilyn ⁽¹⁰⁾3:15 4:12 14:21 16:13,22 17:4 38:2 40:11 51:16,23 mark ⁽²⁾14:19 38:9 marked ⁽¹⁾93:6 marker ⁽¹⁾50:25 markers ⁽¹⁴⁾45:16 48:24 49:6,22 50:12 51:3,10 53:14 60:16 75:8,10 76:1,11,14 marrow ⁽⁵⁾64:4,18,25 65:3,7 mass ⁽¹²⁾10:17,19 26:18,25,25 28:33 28:18 54:18 56:12 72:11 76:12 masses ⁽¹⁾30:25 massive ⁽¹⁾75:18 material ⁽²⁾12:19 37:13 materials ⁽⁵⁾12:17 14:4,24,25 15:4 Maynard ⁽¹⁾3:14 mean ⁽²⁰⁾20:25 24:17 34:7 35:10 37:19 42:16 43:4 45:11 48:8 57:10 59:21 60:5 61:9,12,13 62:14 64:7 76:3 82:1 86:4 meaning ⁽⁶⁾30:23,24 62:18 70:15,16 82:5 means ⁽⁵⁾21:4,7 42:5 61:6 70:14 meant ⁽¹⁾53:18 measured ⁽²⁾48:18,19 measurements ⁽¹⁾32:15 medical ⁽¹⁵⁾6:6 7:6 9:3,17,20,20 41:6 59:18 81:1,19,21,25 90:9 91:13,15 medication ⁽¹⁾81:6 medicine ⁽³⁾33:20 39:23 54:3 meet ⁽¹⁾17:17 meeting ⁽¹⁾17:22 melting ⁽³⁾30:22,23,24 member ⁽¹⁾90:16 Memorial ⁽³⁾9:1,2 45:15 mention ⁽⁴⁾8:19,22 63:19 72:13 mentioned ⁽³⁾13:1 16:25 63:16 Mesa ⁽¹⁾94:20 met ⁽¹⁾4:13 metastasis ⁽¹⁾37:6 metastatic ⁽¹⁶⁾27:16 29:9,12 41:17 47:3,7 48:13 49:8 53:10,22,23,25 54:9 55:3 56:11 89:13 neticulous ⁽⁴⁾42:9,12 45:5 75:20 night ⁽¹⁾34:16 Miller ⁽²⁾3:15 4:12 minimize ⁽¹⁾25:9 ninute ⁽²⁾32:22 61:3 ninutes ⁽¹⁾17:16 nissed ⁽¹⁾50:25 MITCHELL ⁽⁵⁾1:16 2:6 3:2 4:5 5:13</p>	<p>mixed ⁽³⁾10:22,25 11:11 modalities ⁽¹⁾48:9 moment ⁽⁴⁾30:6 68:10 69:6 71:17 moments ⁽¹⁾4:13 monitor ⁽¹⁾74:24 monitored ⁽²⁾30:21 75:1 monitoring ⁽⁵⁾45:6,12 46:16,18 48:2 month ⁽⁶⁾24:18 45:17 46:5,7 71:23 months ⁽²⁵⁾16:9 45:17 46:1,5,9 48:3,4,4 49:12 50:19 52:5 53:14,15,16 59:5 71:5,6 74:20 75:10,11 76:19 77:18,19 78:9 79:2 morning ⁽¹⁴⁾17:2,13 52:4,8 most ⁽¹⁸⁾11:10,10,14 21:4 29:18 31:2 33:1,2,7 36:9 45:24 53:3 64:5 20 67:17 70:6 86:7 88:23 Ms ⁽⁶⁷⁾2:11 3:15 4:11 14:15 15:10,18,23 16:2,15,24 17:6 18:17 23:14 24:20 25:11 28:23 29:10 30:5,15,19,23 32:17 38:4,8,13,16,21 39:2,12 40:13,16 49:5 50:6,11 51:9,17,19 52:1,19 55:23 56:18 59:4,9 60:21 61:18 67:4 70:25 73:8,23 74:27 5:14 78:15 79:19 80:1 81:23 82:20,24 84:10,14 89:21 90:21,24 91:19,23 92:4,16,20 much ⁽¹⁾11:19 multiple ⁽¹⁾54:15 myself ⁽³⁾22:6,10 35:13</p> <hr/> <p>N</p> <p>name ⁽⁹⁾4:12 5:12 9:5,18 90:17 92:2,12,14,21 named ⁽¹⁾44:4 nausea ⁽⁴⁾64:6,10 74:8 76:21 necessarily ⁽¹⁾61:12 necessary ⁽⁴⁾26:1,10 59:19 75:20 neck ⁽²⁾11:15,15 need ⁽²¹⁾12:23 13:2,2 14:15,19 16:14 20:11 22:15 24:11 27:1 31:16 32:12 45:2 57:14 66:3 75:1 78:13 81:25 83:24 85:10,16 needed ⁽¹⁰⁾26:5 57:8,12 62:1,7 74:19,21 75:25 85:3,13 needs ⁽³⁾23:3 25:8 84:17 negative ⁽³⁾76:2,6,11 nerve ⁽¹⁾28:9 neuro ⁽²⁾64:3,16 never ⁽³⁾31:21 44:25 91:7 new ⁽¹⁾76:14 next ⁽³⁾6:13 38:10 52:19 night ⁽²⁾15:11 74:8 node ⁽²³⁾21:7 22:9,20 23:10,15,16,20 24:4,5 27:5,15,19 28:9,18 29:11,13 31:4,9,15,25 55:6 85:6,17 nodes ⁽¹⁴⁾48:11,14 54:1,11,13,16 56:1 71:13 72:15,19 73:13 85:9,16 88:14 nods ⁽¹⁾4:19 nodule ⁽⁴⁾55:15,24 56:14 71:14 non-bulky ⁽¹¹⁾27:13 30:13,15,20 31:2,7,14,20 32:7,19 37:3 non-cure ⁽¹⁾77:4 None ⁽¹⁾83:13</p>	<p>nor ⁽¹⁾94:18 normal ⁽⁷⁾49:3 50:12,19 52:23 53:3 57:14 73:5 North ⁽³⁾9:2 43:22 68:16 Notary ⁽²⁾3:7 94:6 notations ⁽¹⁾13:8 note ⁽⁴⁾28:24 43:1 71:16 72:5 noted ⁽²⁾67:20 86:24 notes ⁽³⁾23:24 37:12 72:2 nothing ⁽¹⁴⁾39:13 47:5 73:10 76:6 notice ⁽²⁾3:22 6:6 Novick ⁽¹⁾8:7 number ⁽⁴⁾8:13 54:10,12 81:11 numbers ⁽¹⁾25:14</p> <hr/> <p>O</p> <p>o'clock ⁽⁴⁾1:20 3:4 4:3 93:7 object ⁽⁶⁾28:19,25 55:20 79:24,25 82:23 Objection ⁽³²⁾18:13 23:7 24:17 28:24,24,25 30:1,10 32:10 38:18 50:2 51:5 56:16 58:25 59:6 60:17 61:16 67:2 70:21 73:3 75:12 78:12 81:17 84:6,13 89:17 90:18 91:17,21 92:1,13,18 objections ⁽¹⁾29:1 obviously ⁽¹⁾4:19 occur ⁽¹⁾81:22 occurred ⁽¹⁾65:21 occurring ⁽¹⁾66:19 October ⁽¹⁾80:4 offer ⁽¹⁾89:2 offering ⁽³⁾38:17 39:3 40:7 office ⁽⁶⁾13:8,23 15:11 16:14,23 36:6 office-based ⁽¹⁾10:4 often ⁽⁴⁾33:15 35:12 45:22 46:3 oftentimes ⁽⁶⁾22:5 28:8 30:20 41:8 47:6 75:3 OHIO ⁽²⁾1:2 7:12 Okay ⁽⁹⁴⁾4:24 5:4,6,12,14 6:3,16,24 11:7,16 12:2 13:7 15:18 16:24 17:6,22 18:5 19:13,18,23 20:2 21:17 22:11,19,22 26:3 27:3,4 29:15 30:16,19 31:7,23 32:5,22 33:9,18 35:24 36:9 37:19,22 40:4,13 41:16 42:24 46:13 48:1 50:11 51:18 53:18 54:8,12,22 55:2,5,10,19 56:7 59:16 60:8,13 63:18 65:14,16,23 66:5,12 69:7,10 72:16 73:12 76:5,18 77:2,8,15 78:24 79:19,23 80:6,18 84:14,18 85:18 86:11,17 87:9,20 88:7,25 89:11 92:4,9,16 old ⁽¹⁾36:12 once ⁽³⁾7:19 19:13 26:10 oncologist ⁽¹⁵⁾19:1,15 22:17 25:25 26:11,21 28:2,13,17 35:6,9,14 46:24 71:22 74:17 oncologists ⁽⁴⁾25:22 27:21 29:7,23 oncology ⁽⁵⁾10:1 14:1 21:21 41:11 46:11 one ⁽⁴⁶⁾7:25 9:5,6 11:5 27:4 33:19,21,24 38:12 44:7,14,22 45:2,16,25 46:4,8 48:4 49:6,16 53:14,15 54:17 58:13 62:11 67:6,18,23 68:19 70:2 71:3,5,6 75:10 77:13 78:2,6,10,16,22 80:15 87:25 88:12,18,20 91:2</p>	<p>one-half ⁽¹⁾70:5 one-page ⁽³⁾37:21 38:1,3 ongoing ⁽¹⁾28:25 only ⁽¹¹⁾9:12 27:5,22 28:20 53:9,21 72:10,17 78:18 80:22 81:1 operate ⁽²⁾11:21 28:7 operated ⁽¹⁾57:18 operating ⁽²⁾11:20,23 opinion ⁽¹⁶⁾42:1 50:21 52:25 59:18 61:21,24 62:7 65:17 67:11 68:11,20,21 75:14 82:25 87:20,24 opinions ⁽¹⁹⁾18:15 38:17,23 39:4,13 40:7 79:5 80:11 89:2 opportunity ⁽⁷⁾17:12,17,23 18:18 21:10 28:16 68:12 orange ⁽¹⁾31:6 orchiectomies ⁽³⁾10:6,13,16 orchiectomy ⁽¹⁰⁾10:18 19:6 20:7 34:21,25 49:17 50:5 85:7 89:12,22 ordered ⁽²⁾13:12 58:1 oriented ⁽¹⁾11:19 originally ⁽¹⁾15:9 ORTMAN ⁽³⁰⁾1:4 24:1,6 26:5 31:25 32:7 34:20 53:9,21 59:17 60:20 61:25 63:10 64:14,24 67:6 69:11 72:8 74:7 77:9 78:16 80:23 81:16 82:14,16 84:18 85:20 86:12,17 89:22 Ortman's ⁽⁹⁾12:21 24:19 56:25 61:22 67:23 75:25 76:15 80:8 87:21 Osborn ⁽²⁾5:15 9:1 other ⁽³¹⁾3:22 7:16,21 11:5 13:9 20:9,23 22:14 25:1 33:7 37:10 39:16,22,24 43:12,23 54:24 64:23 72:16,18,19 73:13 79:5 81:1,19,21 82:12 89:2 91:4 92:17,17 others ⁽¹⁾55:22 out ⁽¹⁴⁾14:18 21:15 22:1 23:5 39:17,18,21,24,25 45:5 51:25 77:6 88:17 92:9 outcome ⁽³⁾56:20 76:7 94:19 outline ⁽²⁾18:11 53:8 outlined ⁽¹⁾60:19 outside ⁽³⁾38:17 40:11 92:11 over ⁽⁵⁾63:5 69:18 72:5 83:22 84:9 own ⁽⁵⁾18:15 44:2 46:5 83:7,10</p> <hr/> <p>P</p> <p>p.m ⁽¹⁾93:7 package ⁽¹⁾15:11 packet ⁽¹⁾16:23 PAGE ⁽²⁾2:8 37:22 pages ⁽²⁾64:8 94:13 paid ⁽¹⁾6:19 pain ⁽³⁾60:2 63:11 78:18 palpable ⁽⁵⁾72:11 75:16,19 76:7,13 palpated ⁽²⁾72:14 73:14 palpation ⁽²⁾21:1 76:14 paracaval ⁽⁴⁾27:3,5,11 28:4 paragraph ⁽⁴⁾41:16 67:5 74:10,14 part ⁽¹³⁾21:3 40:25 41:8,10 48:16 50:24 68:14,22 72:10 76:3 89:24 90:12 participated ⁽¹⁾63:4</p>
--	--	--	--

WHITE & , SSOCIATES

DEPOSITION OF MITCHELL C. KAYE, M.D.

<p>participating ⁽²⁾ 40:24 41:13</p> <p>participation ⁽¹⁾ 41:1</p> <p>particular ⁽²⁾ 38:25 45:7</p> <p>parties ⁽¹⁾ 94:18</p> <p>parts ⁽³⁾ 14:11 75:20,24</p> <p>past ⁽⁵⁾ 34:11 63:5 83:22 84:9 90:2</p> <p>pathologic ⁽¹⁾ 85:8</p> <p>pathologically ⁽²⁾ 23:11 31:18</p> <p>pathologist ⁽⁶⁾ 4:15 10:20 35:16, 1936:2 60:10</p> <p>pathologists ⁽¹⁾ 35:4</p> <p>pathology ⁽⁵⁾ 20:6,18 35:23 46:20 59:25</p> <p>patient ⁽⁶⁷⁾ 8:1 12:10,11,14 18:25 19:1,5,7,9,14 20:7,12 21:13,14,16 22:1,17 24:21 25:23 26:11,17,20 27:4,9,13 28:1,2,12 30:21 32:3 33:25 34:6,11 35:4,5,13 41:2,23 42:14,21 43:6 45:1 46:16,21,25 47:9, 23,24 49:17,22 58:2 61:10,14 63:23 70:18 71:19 72:3 74:18,19,21 75:4 76:18,21 83:1 89:7 92:12,15</p> <p>patient's ⁽¹⁾ 34:15</p> <p>patients ⁽⁴³⁾ 10:25 21:10 22:23, 24 23:4,13,18,19 24:24 25:21 26:22 27:21 28:8,17 29:7,8,12,15,16, 18,22 30:7,12 31:2,14,16 43:2,5 44:25 46:18 62:22,24 64:9 65:2 70:1,11 83:7,8,11,13,16,21 86:10</p> <p>pay ⁽¹⁾ 6:15</p> <p>paying ⁽¹⁾ 7:1</p> <p>PDR ⁽¹⁾ 64:7</p> <p>pediatrics ⁽¹⁾ 10:2</p> <p>pelvis ⁽⁵⁾ 20:9,19,25 56:6 57:23</p> <p>penicillin ⁽⁴⁾ 65:17,19,20,22</p> <p>people ⁽⁹⁾ 25:4 31:18 64:5 66:9 67:17 69:20 86:6,7,11</p> <p>per ⁽¹⁾ 11:23</p> <p>percent ⁽¹²⁾ 11:18,22,25 12:1 23:12,21 36:19 59:10 70:5,15 79:13 81:11</p> <p>percentage ⁽¹⁵⁾ 10:20,22 11:1, 1622:22 23:17 24:24 26:21 29:15, 22 30:4 70:1,10,11,13</p> <p>perform ⁽²⁾ 9:25 10:18</p> <p>performed ⁽⁴⁾ 9:19 22:9 24:6,23</p> <p>performing ⁽²⁾ 29:11 31:25</p> <p>Perhaps ⁽²⁾ 51:8 57:6</p> <p>period ⁽⁶⁾ 32:4 43:6 46:2 69:18 77:9 88:22</p> <p>perionychia ⁽⁵⁾ 85:23 86:3,5,13, 18</p> <p>person ⁽²⁾ 23:9 24:14</p> <p>personal ⁽²⁾ 83:10,25</p> <p>perspective ⁽²⁾ 26:8 40:20</p> <p>Pertaining ⁽¹⁾ 38:25</p> <p>Peter ⁽²⁾ 13:17 73:19</p> <p>Phillips ⁽¹⁾ 90:5</p> <p>Phoenix ⁽³⁾ 9:3,8,9</p> <p>phone ⁽²⁾ 12:4 18:8</p> <p>phonetic ⁽⁶⁾ 16:16 17:10 23:25 43:21 47:10 79:8</p> <p>physical ⁽¹⁵⁾ 21:2 45:20 46:3,7 48:4,10 53:15 60:16 71:7,9 72:10, 25 73:18 76:1,14</p> <p>physician ⁽⁸⁾ 19:8,12,15 42:15, 17,22 77:3 92:25</p>	<p>physician's ⁽¹⁾ 76:23</p> <p>physicians ⁽⁷⁾ 12:20 13:5,10 14:10 22:24 33:1,2</p> <p>pick ⁽¹⁾ 44:19</p> <p>picked ⁽⁵⁾ 57:6,11,11 75:4 85:4</p> <p>picture ⁽¹⁾ 88:6</p> <p>Plaintiffs ⁽²⁾ 1:6 3:10</p> <p>plan ⁽⁶⁾ 38:16,19 40:9,10 60:19 72:21</p> <p>planning ⁽¹⁾ 34:10</p> <p>play ⁽³⁾ 25:20 26:12 39:7</p> <p>Plaza ⁽¹⁾ 3:5</p> <p>PLEAS ⁽¹⁾ 1:1</p> <p>please ⁽⁵⁾ 8:20 26:24 27:24 30:11 38:5</p> <p>Plus ⁽¹⁾ 67:21</p> <p>point ⁽³⁾ 57:8,21 81:5</p> <p>population ⁽¹⁾ 11:7</p> <p>portion ⁽¹⁾ 41:12</p> <p>position ⁽¹⁾ 6:13</p> <p>positive ⁽¹⁾ 53:1</p> <p>possible ⁽⁷⁾ 42:6 45:9 48:6,8 86:20 87:18 89:13</p> <p>possibly ⁽³⁾ 20:20,20 84:23</p> <p>post-orchietomy ⁽⁶⁾ 27:8 28:4,15 45:8 76:20 89:14</p> <p>post-radiation ⁽¹⁾ 70:18</p> <p>posterior ⁽¹⁾ 55:16</p> <p>potential ⁽⁴⁾ 65:5 80:22 81:15 84:1</p> <p>potentially ⁽⁸⁾ 11:21 34:17 41:24 50:24 57:18 58:18 62:4 87:18</p> <p>practice ⁽¹²⁾ 6:2 10:5,7,21 11:3,9, 18 18:17 20:1 24:21 28:7 46:5</p> <p>practiced ⁽¹⁾ 46:10</p> <p>pre-orchietomy ⁽¹⁾ 20:13</p> <p>precisely ⁽¹⁾ 11:6</p> <p>preclude ⁽¹⁾ 42:15</p> <p>predict ⁽²⁾ 51:6 80:5</p> <p>preparation ⁽²⁾ 16:3 68:11</p> <p>prescribed ⁽¹⁾ 26:23</p> <p>present ⁽⁵⁾ 9:25 41:15 58:24 72:15 88:6</p> <p>presentation ⁽²⁾ 30:8 63:11</p> <p>presentations ⁽¹⁾ 7:25</p> <p>presented ⁽¹⁵⁾ 24:8,21 32:1,4,18 33:11 42:15 46:9 49:8 53:9,21 76:18,21 78:18 84:19</p> <p>Presently ⁽¹⁾ 67:6</p> <p>presents ⁽¹⁾ 26:18</p> <p>previous ⁽⁶⁾ 5:19 21:20 24:13 28:21 32:5 48:1</p> <p>previously ⁽¹⁾ 50:12</p> <p>prior ⁽¹⁰⁾ 14:4 17:22 29:13 34:4 36:5 44:4 50:19 53:2 75:4 86:25</p> <p>privileges ⁽³⁾ 8:25 9:5,13</p> <p>probability ⁽⁹⁾ 59:11,18 62:10 66:13,24 81:8 82:13 87:7 88:3</p> <p>probably ⁽⁴⁾ 11:10 71:8 77:25 85:16</p> <p>problem ⁽²⁾ 33:16,22</p> <p>problems ⁽⁹⁾ 64:20,21 80:8 81:2, 7,15,19,22,25</p> <p>Procedure ⁽²⁾ 3:9 34:25</p> <p>procedures ⁽³⁾ 9:19 10:1,3</p> <p>proceed ⁽¹⁾ 27:14</p> <p>proceedings ⁽¹⁾ 94:14</p> <p>process ⁽¹⁾ 4:25</p>	<p>producing ⁽¹⁾ 50:25</p> <p>production ⁽¹⁾ 15:20</p> <p>profession's ⁽¹⁾ 6:19</p> <p>professional ⁽¹⁾ 5:14</p> <p>proficiency ⁽¹⁾ 35:23</p> <p>progress ⁽¹⁾ 71:16</p> <p>prompt ⁽¹⁾ 77:3</p> <p>prone ⁽³⁾ 63:13 80:24 86:8</p> <p>proper ⁽¹⁾ 35:5</p> <p>properly ⁽³⁾ 12:12,12 30:21</p> <p>prophylactic ⁽⁵⁾ 19:7 35:8 45:1 47:4,5</p> <p>propounded ⁽¹⁾ 94:10</p> <p>protect ⁽¹⁾ 86:9</p> <p>protocol ⁽¹⁾ 78:4</p> <p>protocols ⁽¹⁾ 45:13</p> <p>proven ⁽¹⁾ 47:7</p> <p>provide ⁽⁴⁾ 19:22,24 20:1 64:7</p> <p>provided ⁽⁹⁾ 13:1 14:14,22 15:5, 20 16:22 52:9 63:17 84:22</p> <p>providing ⁽¹⁾ 27:7</p> <p>provision ⁽¹⁾ 22:17</p> <p>Public ⁽²⁾ 3:7 94:6</p> <p>published ⁽³⁾ 43:3,16 46:14</p> <p>pulled ⁽¹⁾ 51:25</p> <p>pulmonary ⁽¹⁾ 71:14</p> <p>pure ⁽¹⁾ 10:25</p> <p>purpose ⁽¹⁾ 75:5</p> <p>purposes ⁽³⁾ 38:10 71:18 90:9</p> <p>pursuant ⁽¹⁾ 3:8</p> <p>put ⁽¹⁾ 27:23</p> <p>puts ⁽¹⁾ 66:18</p>	<p>re-review ⁽¹⁾ 35:19</p> <p>reaching ⁽¹⁾ 13:13</p> <p>reaction ⁽³⁾ 63:15 65:17,19</p> <p>read ⁽⁷⁾ 3:21 35:24 36:1 47:10 52:5 60:25 63:7</p> <p>readily ⁽¹⁾ 21:4</p> <p>reading ⁽³⁾ 44:1 68:15,23</p> <p>rearview ⁽¹⁾ 55:24</p> <p>reason ⁽⁵⁾ 51:25 65:15 74:19 78:21 88:25</p> <p>reasonable ⁽⁸⁾ 26:15 53:8,13,19 55:1 59:18 61:17 66:22</p> <p>reasons ⁽²⁾ 10:14 77:25</p> <p>recall ⁽¹⁶⁾ 10:8,24 11:2 12:16 13:19 16:11,20 30:6 32:15 47:13 52:13 68:13 71:15 79:11,18,20</p> <p>receive ⁽⁷⁾ 23:13,20,21 25:5,5 31:20 77:21</p> <p>received ⁽⁴⁾ 12:4 62:11 81:5 82:7</p> <p>receives ⁽¹⁾ 24:15</p> <p>receiving ⁽²⁾ 64:9 82:17</p> <p>recess ⁽¹⁾ 74:1</p> <p>recognize ⁽¹⁾ 54:3</p> <p>recollection ⁽³⁾ 24:12 55:7 79:15'</p> <p>recommendations ⁽¹⁾ 60:14</p> <p>recommended ⁽³⁾ 42:25 78:11, 15</p> <p>record ⁽¹⁰⁾ 15:7,9 16:21 17:5 40:1, 13,15 52:6 90:21,23</p> <p>records ⁽²⁸⁾ 12:6,20 13:3,4,20 25 14:10,11,23 15:6,21,23 16:5,25 18:3,6,16 23:25 49:7 50:7 51:3,20,24 52:2,8 55:24 69:10 86:16</p> <p>recur ⁽²⁾ 67:17 70:7</p> <p>recurred ⁽¹⁾ 84:20</p> <p>recurrence ⁽²⁵⁾ 36:23 45:5,9 47:6 48:5 61:10,15 67:16 70:2,8,11 74:24 75:2,3,18 76:23,25 77:4 82:15 87:21,25 88:6,16,20 89:2</p> <p>recurrences ⁽⁴⁾ 51:1 67:19 75:4 88:23</p> <p>recurrent ⁽⁴⁾ 32:16 67:7,12 78:19</p> <p>reduced ⁽¹⁾ 94:12</p> <p>Reed ⁽¹⁾ 9:20</p> <p>refer ⁽⁹⁾ 18:25 19:14 21:14 22:1,16 27:21 28:17 35:5 44:3</p> <p>reference ⁽³⁾ 36:6 43:20 52:22</p> <p>references ⁽¹⁾ 8:13</p> <p>referral ⁽²⁾ 22:4 35:8</p> <p>referred ⁽¹³⁾ 19:8,11 22:23 23:5 24:1 26:11,20 28:1,6,12 29:7,23 35:14</p> <p>referring ⁽⁶⁾ 10:4 41:16 43:18,19 59:23 60:2</p> <p>refers ⁽¹⁾ 45:4</p> <p>regardless ⁽²⁾ 46:19 78:21</p> <p>regimen ⁽²⁾ 61:7,13</p> <p>regimens ⁽¹⁾ 69:20</p> <p>region ⁽³⁾ 27:3,11 28:4</p> <p>regions ⁽¹⁾ 54:16</p> <p>regularity ⁽¹⁾ 47:23</p> <p>relate ⁽¹⁾ 86:4</p> <p>Related ⁽⁸⁾ 19:25 65:18 67:22 69:1,12,15 87:6 94:17</p> <p>relating ⁽³⁾ 67:25 68:5 69:4</p> <p>relation ⁽¹⁾ 66:21</p> <p>relationship ⁽³⁾ 42:17 66:22 87:</p>
---	---	---	--

WHITE & ASSOCIATES

DEPOSITION OF MITCHELL C. KAYE, M.D.

<p>2 rely [2] 25:21 35:16 remember [1] 49:7 REMEMBERED [1] 3:20 remission [1] 25:10 repeat [3] 8:20 27:24 76:9 rephrase [2] 5:3 70:22 report [37] 2:19 12:24 13:18 14:5 15:14 17:5,7,9 18:4,6 37:9,13,15, 17,20,25 38:1,3,5,9,17,22,24 39: 13 40:12 43:25 44:4,8,11 47:11 53 7,19 55:18 63:6 74:6,25 79:7 reported [4] 63:11 69:18 73:5 79: 8 reporter [1] 38:9 reports [6] 12:21 13:9 37:10 55:8, 9 68:4 representation [1] 15:10 represented [2] 3:10,13 request [1] 15:20 requested [1] 18:3 require [9] 22:4 29:18,19 35:18 46:6 50:13,17 61:7 81:2 required [5] 3:22 50:22 57:5 80:9 91:6 requires [1] 53:12 requiring [1] 63:14 reserve [1] 82:21 residency [7] 6:9,14 7:7 40:18,19 41:10 63:1 resident [3] 6:12 91:3,24 residual [1] 57:16 resistant [1] 47:18 resources [2] 43:25 44:13 response [10] 34:5,13 64:22 67: 15 72:1,4 73:1,15,16 84:4 responses [1] 67:20 result [9] 48:25 59:16 60:6 61:22 63:21 66:4 77:6 80:8,24 results [3] 13:12 52:16,25 Retroperitoneal [2] 8:10 22:9, 20 23:10,20 24:4,5,23 27:15 28:9 30:13,14,22,25 31:4,8 75:17 84:23 85:6,9,17 retroperitoneum [8] 31:19 47:4 48:15 54:2,21 56:3 57:4,17 retrospectively [1] 76:4 returning [1] 3:23 review [26] 13:14,17,20,23,25 16: 3,7 17:6 18:3,14 24:11 32:12 35: 13 38:22 51:2,19 52:4,9 68:12 69: 25 70:9 74:3 79:16 90:11,15,25 reviewed [15] 12:24,25 14:4 16:5 17:1,2 37:13 52:2,7 69:11,14 90:1, 4 91:3,5 reviewing [12] 6:35 12:49 7:71: 15 90:9,16 92:17 risk [12] 66:19 67:7,12 80:15 81:9 82:13,17 86:12 87:13,19,21,24 risks [2] 63:20,20 Road [3] 3:6 81:2,8 ROBERT [2] 1:9 4:15 role [4] 19:16 21:24 25:20 26:12 Room [3] 3:5 11:20,23 roughly [1] 54:6 round [3] 85:20,21 86:18 rounds [3] 26:4 87:11,12 routine [2] 68:14,22</p>	<p>routinely [4] 26:12 44:1,5,6 rule [1] 45:5 rules [1] 3:9 run [1] 38:11</p> <p style="text-align: center;">S</p> <p>salvage [6] 59:19 61:4,6,9 62:1 67:7 same [8] 15:4,22 33:2,9,16 47:23 48:22 56:13 saw [6] 32:2 50:4,7 60:21,23 64:24 saying [7] 15:16 26:25 31:8 41:17 44:19 58:12 66:20 scan [28] 16:12,19,19 21:1 24:11 32:12 46:22 48:3,13,20 56:6,12 57 22 71:10 75:23 77:9,22 78:16,22, 25 79:5,10,12,16,21 85:12 89:16, 23 scanning [4] 21:2,4 75:6,19 scans [5] 45:17 46:1 60:16 78:9 86:25 scenario [5] 27:6 29:23 50:3 83: 12 84:2 schedule [2] 53:8,13 scholarship [1] 6:20 school [1] 33:21 schooling [1] 7:7 Scottsdale [1] 1:18 3:5,6,6 4:1 5:15,25 9:1,2,7,24 search [1] 50:18 second [9] 37:22 41:16 48:1 67:5, 22 69:12,15 74:10 86:18 secondary [1] 69:1,4,23 70:3 80 16 81:9,13 82:14 88:8,12,13 section [2] 73:24,25 see [22] 4:19 14:16 16:14 30:21 32: 13 47:17 49:25 52:17 57:13 64:13 70:18,24 71:8,9,12 72:1,9,11 74: 10,14,19 81:7 seem [1] 5:10 seemed [1] 72:16 seems [1] 84:22 seen [10] 11:4 17:9 42:21 44:25 49 12 50:10 58:2 69:11 70:4 81:11 segment [1] 55:16 self-catheterization [1] 8:2 Semanovich [1] 16:16 Semanovich's [1] 17:5 seminars [1] 41:6 seminoma [29] 8:4,11,19,22 10: 21,25 11:9,11 18:19,25 20:14 35:4, 8 36:13,15 37:6 43:12 46:17,22 47: 16,22 60:11 62:19 70:25 71:20 74: 18 76:20 89:8,12 seminomas [1] 36:20 send [1] 10:19 sensitivity [1] 73:17 sent [6] 12:17,18 13:4 14:25 15:13 31:20 sentence [3] 48:2 63:7 67:12 separate [1] 88:10 September [1] 80:4 series [1] 43:22 serum [1] 89:14 set [3] 33:9 48:2 49:6 sets [2] 50:13,18 seven [3] 77:18 78:7,8 Several [15] 10:8,13 16:9 27:10 45:13 52:5 54:15,15,19,24 58:21</p>	<p>63:10 69:17 83:22 84:9 shakes [1] 4:19 shirt [1] 6:5 short [4] 40:14 74:1 90:22 shorthand [1] 94:11 show [4] 18:12 48:12,13,15 showed [1] 55:10 shown [1] 51:4 shows [2] 88:4,5 shrinking [1] 30:24 Side [13] 25 63:24 64:5 65:5 85: 19 sign [1] 3:21 signals [1] 4:20 signature [1] 37:23 similar [2] 14:7 46:25 Since [4] 5:18 52:5 76:5,10 site [1] 47:6 situation [6] 28:12 33:2,8 38:25 62:9 85:1 situations [1] 61:11 six [5] 24:18 49:12 54:6 74:20 75: 10 size [3] 48:18,20 80:21 skill [1] 94:15 slides [6] 15:2 16:7,8 35:12,20,25 slightly [3] 33:12,25 45:15 small [1] 57:16 smaller [7] 27:10 41:22 54:22 56: 13 57:22 58:21 62:2,8 snow [1] 6:4 soft [7] 26:18 27:10 32:18 56:12 57:22 58:21 72:11 somebody [5] 25:3 47:7 70:23 71:3 86:6 someone [1] 66:6 sometime [1] 18:5 Sometimes [1] 61:7 somewhat [1] 39:10 sooner [2] 74:22 75:1 sorry [6] 8:20 9:15 53:5 76:9 78:5, 13 sources [2] 43:20 44:6 Southwest [7] 51:17,18,20 52:8, 14 55:14 77:17 span [1] 77:20 sparing [1] 28:9 speaking [2] 29:1 51:9 specialty [1] 7:22 specific [10] 27:1 29:21 33:8 34:8 68:8,12 69:5 83:11,12 84:17 specifically [22] 10:16,24 12:23 13:19 23:2 41:7,11 44:5,9 49:13 51:14 53:13 58:6 65:15 68:24 72: 24 74:6 75:23 77:12 78:14 82:19 83:18 specifics [1] 13:2 specimen [2] 10:19 20:7 speculate [1] 59:14 speed [1] 58:4 spend [4] 11:17,19,22 18:8 spleen [1] 86:23 split [1] 73:23 Sponsored [1] 6:21 squamous [1] 7:25 St [1] 9:6 stage [35] 20:3,5 21:19 22:3,5,8 23:3,4,8 24:8,9,12,14,22 25:3 27:</p>	<p>14 32:7,19 36:16,20,25 37:1,1,2,3, 3 42:7 46:17,20,21 70:25 71:20 76: 20 84:17,18 staged [2] 19:5 20:8 staging [5] 19:2 21:7 22:16 24:3 89:24 standard [16] 32:24,25 33:6,13 35:18,25 43:2,19 44:17 46:6,8 50: 13,17 53:12 75:21 77:21 standpoint [4] 25:16 26:6 56:21 62:2 start [2] 39:15 41:17 State [4] 3:8 67:5 94:1,7 stated [3] 53:17 74:7 88:2 statement [7] 33:17,18 34:7 44: 16 56:10 84:7,11 statements [1] 70:6 States [2] 6:21 7:16 Station [3] 5:20 9:11,14 status [6] 27:8 28:4,15 70:18,19 88:1 step [2] 32:22 78:13 still [9] 7:9,12 19:16 25:10 47:22 63:20 67:7,12 69:19 stop [1] 5:2 straight [1] 6:14 strike [5] 39:14 40:6 53:4,6 61:22 studies [2] 42:13 45:20 study [1] 56:4 subcategory [1] 43:13 submit [1] 18:3 submitted [1] 17:3 subsequent [1] 83:24 subsequently [1] 51:7 substance [1] 38:5 sued [1] 91:15 suggest [2] 72:2 73:12 suggested [1] 71:25 suggesting [1] 55:21 suggestion [2] 47:3 85:15 summary [1] 81:12 superimposed [1] 80:14 supplementations [1] 15:12 supplemented [3] 14:24 15:7,8 supplements [1] 16:1 support [1] 78:3 supraclavicular [1] 72:14 supradiaphragmatic [2] 71:8 84:23 surgery [4] 8:14 9:23 11:17 13:20 surgical [10] 3:5 34:19 survive [1] 70:1 suspicion [1] 76:23 sweats [1] 74:9 Sweet [1] 17:9 switched [1] 69:20 sworn [2] 4:8 94:8 symptomatic [3] 53:9,22 75:5 symptoms [6] 34:5 74:8,25 75:2 76:24 77:7</p>
---	---	--	---

WHITE & ASSOCIATES

terms ^[7] 26:13 48:18 60:3 66:3 69:6 72:22 88:16 test ^[1] 52:25 testes ^[6] 72:20 83:18,20,21 84:1 88:16 testicle ^[3] 72:16,18 73:5 testicles ^[1] 10:17 testicular ^[22] 12:10,13 19:25 21 5,16 23:12 36:10 41:19 43:6,7,9 44:22,24 46:11,19 62:22,25 67:8, 1373:7 84:16 87:21 testified ^[3] 4:8 53:11 91:12 testify ^[5] 56:19 79:20 83:25 91:1 94:9 testifying ^[2] 26:3 94:8 testimony ^[7] 5:9 24:14 32:5 44 23 85:2 94:5,15 testing ^[5] 20:11,20 45:21 72:22 75:5 tests ^[8] 13:12 20:9,23 45:25 48: 15 57:25 58:1 62:14 Thanks ^[1] 42:24 therapies ^[1] 22:7 therapy ^[13] 8:14 19:11 22:11 24 25 42:25 59:24 60:4,9 67:7 70:19 24 71:21 77:1 There's ^[8] 8:6 36:23 38:23 49:2 72:13,21 80:22 81:9 thereafter ^[1] 94:12 [hereto] ^[1] 94:11 thinking ^[1] 9:4 thinks ^[1] 82:20 third ^[2] 85:21 86:18 Thirty ^[1] 11:25 THOMAS ^[1] 1:4 though ^[4] 40:9 78:11 87:3,9 three ^[18] 8:13 25:5 28:3 29:19 33 10 45:17 46:1 48:4 53:15 54:23 58 56 4:9 65:3 66:7 67:1 74:20 87:11 17 throughout ^[3] 20:13 44:18 76: 11 issue ^[6] 26:18 27:10 32:18 56:1 57:22 58:22 itle ^[1] 68:13 oday ^[6] 4:17 16:4 17:1 38:23 51 20 52:3 op ^[1] 39:15 oposide ^[8] 67:23,25 69:2,5,12, 15 81:10,14 oposide-based ^[1] 69:20 oxic ^[2] 41:24 82:11 oxicity ^[6] 25:9 64:3,4,14,16 81:6 ain ^[1] 61:2 aining ^[16] 6:7 26:14 33:3,4 40:5 7,22 41:10,12 56:23 57:1,7,21 58 1,19 70:10 transcript ^[2] 47:11 94:14 treat ^[4] 18:25 21:14,16 47:5 treated ^[11] 10:25 12:12 21:18 23: 5,9 25:8 31:15 33:19 82:4,6 85:5 treaters ^[1] 15:24 treating ^[19] 18 62:24 treatment ^[41] 12:21 20:13 21:24 22:11 25:23 26:15 28:13,22 31:9, 12 33:13 34:5,13,20 36:14,24 40: 21,23,25 41:25 53:2 56:22,25 58: 16 61:22 70:20 71:4,6 72:4 73:2	76:19 77:10,19 80:24 81:3 84:15, 25 87:1,6 89:12 92:5 treatments ^[9] 23:1 25:14,15,25 31:1 46:23 68:5 71:21 81:21 tremendous ^[2] 41:18,20 trial ^[10] 26:4 38:17 39:3 40:8 65: 17 66:20 79:22 82:21 91:9,12 true ^[21] 18:21 20:14,16 24:1 26:1 2 28:18 33:14,22 34:1 48:25 49:1 59:24 66:8,11 67:17 69:23 86:15, 19 87:15 94:13 truth ^[1] 94:9 try ^[1] 31:12 trying ^[2] 81:24 92:9 tumor ^[31] 11:11 24:14,22 36:10 41:22,22 47:15 48:19,24 49:6,22 50:12,24 51:3,10 53:14 54:4,22 51 19,24 57:11,13 58:13 60:15 62:3, 75:8,10 76:10,14 80:9 Tumors ^[4] 8:10 11:1 48:7 88:14 turn ^[1] 73:19 turned ^[1] 74:2 turning ^[1] 71:17 Tuschman ^[1] 3:15 two ^[38] 14:6 26:22 28:3 29:16,24 30:8 31:22 33:10 42:19 45:8,16 4 4,8 48:3,3,4 52:21 53:14,16 54:16 22 62:11 64:9 65:3 66:7,21 67:18 70:2,7 71:2,5,6 75:10 76:19 87:11 17 88:3,23 two-month ^[1] 45:25 two-page ^[2] 37:20,25 two-year ^[2] 88:19,20 type ^[7] 12:13 36:9,23 43:12 47:15 15 66:17 types ^[4] 9:23 20:16 63:13 88:14 typewriting ^[1] 94:12 typically ^[3] 20:8 21:2 46:4	urologists ^[4] 33:6,7,11 35:22 urology ^[12] 6:12 7:18 10:2,2 39: 17,19 41:8 43:20,21,21,23 44:20 useful ^[1] 16:15 utilization ^[1] 61:8	written ^[1] 44:14 wrote ^[1] 18:6 37:18,21 43:25 44: 11 47:11
X			
x-ray ^[15] 12:21 20:25 45:20 48:11 22 53:15 55:10,13 58:10 71:10,12 75:24 84:21 89:15,23 x-rays ^[10] 13:12 15:3 16:10 45:1 46:1 48:3 55:14 60:18 75:6,20			
Y			
year ^[12] 36:12 44:22 45:3 61:25 67:10 70:7 78:6,10,16,22 90:8,24 Yearly ^[3] 42:24 43:15 44:16 years ^[13] 45:7,8,12 63:3,5 67:6, 18 70:2,7 83:22 87:25 88:3,24 festerday ^[1] 17:18 festerday's ^[1] 17:22 /ourself ^[5] 37:12 39:17,18,21,25			
Z			
zero ^[3] 23:12,21 52:22			

Mitchell C. Kaye, M.D.
Diplomate, American Board of Urology
Fellow, American College of Surgeons

8007 Brandt Court
Fairfax Station, Virginia 22038

June 19, 1997

Mr. Jack Landskroner
Landskroner & Phillips Co., L.P.A.
55 Public Square, Suite 1040
Cleveland, Ohio 44113-1904

Dear Mr. Landskroner:

I have reviewed the records, the pathology findings and slides for Mr. Thomas Ortman's treatment beginning in June of 1995. It is clear from these records that the histologic diagnosis of embryonal carcinoma was missed by Dr. Alberhasky, the reviewing pathologist, resulting in Mr. Ortman being exposed to an improper initial therapy. This is clearly documented in the revised pathology report by Dr. Tancinco, and the Subsequent review and findings by Dr. Levin at the Cleveland Clinic as well as Dr. Abdul-Karim at University Hospital. Clinically localized testicular cancer demonstrating the presence of embryonal carcinoma within the orchiectomy specimen is most appropriately treated with retroperitoneal lymph node dissection. If intraoperative findings are favorable a modified nerve sparing technique can be used preserving the nerves necessary for ejaculation. If micrometastatic disease was initially noted at the time of lymph node surgery a limited adjuvant course of chemotherapy would be considered. This therapeutic course almost always is curative. Mr. Ortman, on the other hand, was exposed to an unnecessary course of prophylactic retroperitoneal radiation and a significant delay in proper therapy as a result of the pathologic misdiagnosis.

Early diagnosis of metastatic disease can make a tremendous difference with testicular cancer, a disease that has a high potential for cure with initial recurrence. Yearly follow-up as recommended after initial therapy in Dr. Basa's note does not comply with an appropriate standard for managing these patients and is contrary to all that is published in the urologic literature. Frequent monitoring during the first four years after diagnosis, and in particular during the first two years post orchiectomy, is essential to detect any recurrence at the lowest possible disease volume. Because of the failure to outline a reasonable follow-up schedule, Mr. Ortman only presented when he became symptomatic with bulky metastatic disease. It is probable that he would have been detected with a lower recurrent tumor volume.

As a result of the manner in which Mr. Ortman was diagnosed and managed, it is my opinion with reasonable medical probability, that it was necessary to expose him to a more intensive salvage chemotherapy with documented complications. Presently, Mr. Ortman is less than 1 1/2 years from salvage therapy and is still at risk for recurrent testicular cancer.

Please contact me if I may be of further assistance.

Sincerely,

Kaye MD

Mitchell C. Kaye, M.D., F.A.C.S.
Assistant Professor of Surgery
Uniformed Services University of the Health Sciences

EXHIBIT
IN RE M. KAYE
DATE 12-6-97
CHRISTOPHER J. WHITE
COURT REPORTER