

KERR.V1

1

1 CUYAHOGA COUNTY COMMON PLEAS  
2 CASE NO. 399411

3 MARY LOU ZIMMERMAN, ET AL

4 VS.

5 THE CLEVELAND CLINIC FOUNDATION

6 ORAL DEPOSITION OF

7 DR. CLARK KERR

8 MAY 3, 2002

9  
10 ORAL DEPOSITION of DR. CLARK KERR, produced as a witness  
11 at the instance of the Defendant, and duly sworn, was taken in  
12 the above-styled and numbered cause on the 3rd day of May,  
13 2002, from 9:11 a.m. to 11:43 a.m., before Linda York, CSR in  
14 and for the State of Texas, reported by stenographic method,  
15 at the offices of consultants in Infectious Diseases, Lubbock,  
16 Texas, pursuant to the Texas Rules of Civil Procedure and the  
17 provisions stated on the record or attached hereto.

18  
19  
20  
21  
22  
23  
24  
25

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

2

1 A P P E A R A N C E S

2 For the Plaintiff: KERR.V1  
 3 MR. ROBERT LINTON  
 (via telephone)  
 - and -  
 4 MR. MARK RUF  
 Linton & Hirshman  
 700 W. St. Clair Avenue  
 5 Cleveland, OH 44113  
 216.687.1999  
 6 fax 216.771.5803

7 For the Defendant: MR. ALAN PARKER  
 Reminger & Reminger  
 8 The 113 St. Clair Building  
 Cleveland, OH 44114  
 9 216.687.1311  
 fax 216.687.1841

10  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

3

1	I N D E X	PAGE
2		
3	Instructions to the Witness	4
4	WITNESS: DR. CLARK KERR	
	Examination by Mr. Parker	5
	Examination by Mr. Ruf	72
	Page 2	

5	KERR.V1 Further Exam by Mr. Parker	73
6	changes and Signature Page	77
7	Reporter's Certification	78
8		
9		
10	E X H I B I T S	
11	DEPOSITION EXHIBIT NUMBER	MARKED
12	one, Report	20
13	TWO, Dr. Kerr's CV	68
14	(Exhibits are attached in the Exhibit volume.)	
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

4

# 1 INSTRUCTIONS FOR SIGNING A DEPOSITION

2 Rules of Civil Procedure under which this deposition was taken  
3 provide that the deposition transcript shall be made available  
4 to the witness or his attorney of record for examination and  
5 signature by the witness.

6 This deposition condensed transcript is provided for your  
7 review. It is yours to keep. Read it carefully before making  
any changes or corrections. Make transcript corrections on  
the changes and signature Page which is located as shown in  
the index.

changes and/or corrections must be made in the following  
Page 3

8 manner:

9 (1) Indicate by number the page and line you wish  
to alter;

10 (2) Indicate your change or correction;

11 (3) Give the reason for making the change.

12 when you have followed the instructions above, sign the  
changes and Signature Page before a Notary public. The  
completed changes and Signature Page must be returned to the  
13 offices of Cathy sosebee & Associates within 20 days of  
receipt by you.

14 when we have received the signed and notarized changes and  
signature Page, we will forward all attorneys of record a copy  
15 of that form and deliver the original transcript to  
16 Mr. Parker for safekeeping and use at trial.

17 If you have any questions about this procedure, please call  
our office at (806) 763-0036.

18

19

20

21

Linda York, RPR, CSR  
Cathy Sosebee & Associates  
P.O. Box 86  
Lubbock, Texas 79408  
(806) 763-0036

22

23

24

25

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

5

1 DR. CLARK KERR,

2 having been first duly sworn, testified on EXAMINATION by

3 MR. PARKER as follows:

4 Q Thank you, very much, Dr. Martinelli. My name is  
5 Alan Parker --

6 MR. RUF: This is Dr. Kerr.

7 Q I apologize once again. I really do seem to have  
8 some sort of mental block on who's here this morning.

9 Dr. Kerr, I'm Alan Parker. It's a pleasure to meet you. I'm

10 here to take your deposition. Have you had your deposition

f1 taken before?

12 A Yes.

13 Q All right. And so you understand that this is an  
14 opportunity for me to ask you questions. ■try to make my  
15 questions clear. If for any reason they're not clear, please  
16 let me know and I'll try again. okay?

17 A Correct.

18 MR. PARKER: Also for, Counsel, just for the  
19 record, this deposition is being taken by agreement of  
20 counsel; is that correct?

21 MR. RUF: That's correct.

22 MR. PARKER: And so I don't even think there is  
23 a notice, but any defects as to notice, ■take it are waived.

24 MR. RUF: Correct.

25 Q Dr. Kerr, can you state for us, please, your full

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

6

1 name?

2 A Clark McLaughlin, M-C-L-A-U-G-H-L-I-N, Kerr.

3 Q And what is your professional address?

4 A 4905 21st Street, Lubbock, 79407.

5 Q Is this your only professional office?

6 A That is my home and office address. I have an office  
7 in my home.

8 Q ■see. And where are we today for your deposition?

9 A This is Consultants in Infectious Diseases.

10 Q How are you affiliated with Consultants in Infectious  
11 Diseases, if at all?

12 A Currently not, but actually was the founding  
13 individual of CID. And originally in Denver, and then here in

14 Lubbock.

15 Q Do you currently practice medicine?

16 A Not active clinical practice.

17 Q when did you last have an active clinical practice?

18 A In terms of clinical medicine and practicing it  
19 through April 30th of this year, 2002.

20 Q And what are the circumstance that have led you to  
21 terminate your clinical practice?

22 A I have Parkinson's disease. In fact chief onset  
23 Parkinson's disease now for probably about eight to ten years.  
24 And over the last several years, I've had to dramatically slow  
25 down.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

7

1 Q Describe for me what your current professional  
2 activities consist of.

3 A At this exact time, I've practically cut out  
4 everything, in fact, the date I gave you in April was the  
5 start of a -- sorry, that April date I gave you 2002 was 2001.  
6 And since that date I've dramatically slowed down. I had a  
7 business Complete practice solutions, which I have totally  
8 closed as well in many parts for health reasons and conduct my  
9 business now strictly as Infection Info-Med.

10 Thankfully my health has improved significantly in  
11 the recent time, much is in large part due to having cut back  
12 on so much, and I've just recently gotten back into the  
13 clinical side again. And currently am working with a hospital  
14 system, which I consulted for actually in just the last week  
15 on quality assurance post-op infection problems and the  
16 lecture series with their staff. And they've asked me to

17 continue on in a quality assurance fashion with them again,  
 18 consulting, which is the work that I used to do that ■ really  
 19 had closed off in the last year.

20 Q when you say the work you used to do as consulting,  
 21 what do you mean by that term, what did you do?

22 A ■ had obviously a huge clinical practice for about  
 23 15, 17 years. stopped that in '96, '97, continued on then as  
 24 an epidemiologist, spending then most of my time in that at  
 25 covenant. Except it may not have been covenant at that time;

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

8

1 it may have been St. Mary's and Methodist. That's a thousand  
 2 bed institution. Just up the street from here. And continued  
 3 to do and that was the contract with covenant system that ■  
 4 closed April 2001. Along with that ■ continue to do a lot of  
 5 lecturing here, around the country, and a lot of epidemiology  
 6 for consultation around the country as well.

7 Q Are you an epidemiologist?

8 A In terms of have I taken epidemiology, no. As part  
 9 of my infectious disease at Duke, they were very big in the  
 10 '70s and '80s on epidemiology, so we came out well trained for  
 11 infection control epidemiology whereas, for instance, Dr.  
 12 Sarria and Martinelli did not take the formal training in  
 13 epidemiology,

14 Q Do you hold yourself out as an epidemiologist?

15 A Not as an epidemiologist.

16 Q okay. ■ take it that you are an infectious disease  
 17 physician who's taken course work and is otherwise familiar  
 18 with many aspects of epidemiology; is that what you're trying  
 19 to tell me?

20           A     I hold myself out as a specialist in infection  
21 control.

22           Q     okay.

23           A     But infection control and the pure specialty of  
24 epidemiology, meaning the statistical side and all of that,  
25 what I call more mathematical side than I'm the best at, that

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

9

1       I do not hold myself out to be. But where it crosses over  
2 with infection control, yes, I hold myself as an expert in  
3 infection control.

4       Q     Okay. I thought I heard you tell me that your  
5 clinical practice, by that I mean your practice that involved  
6 direct contact with patients stopped in 1996, 1997?

7       A     I wouldn't say direct contact with patients stopped.  
8 In terms of being the primary attending, primary one  
9 responsible, writing orders on the patient, that stopped then.

10       Q     Okay.

11       A     And that was for health reasons. But in terms of  
12 patient contact and decision making, it changed from an  
13 individual manner, you might say, to more of a hospital  
14 manner. You're very involved in a thousand bed institution  
15 when you're running the infection control, epidemiology and  
16 such.

17       Q     okay. Tell me what your work consisted of when your  
18 -- between 1997 and the cessation of your practice in April  
19 2001?

20       A     The large portion of it was epidemiology, both here  
21 and doing consultation work in different hospitals on request  
22 throughout the country. Those requests might take a month,



23 might be three months, might be just one visit, depending on  
24 what their needs were, and ~~it~~ would all relate to post op  
25 infections, quality assurance problems, quality control

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

10

1 issues, things like that. ~~It~~ consisted of rounding frequently  
2 with your staff, going through the ICUs, the neonatal .  
3 intensive care units, rehab, wherever there were problems or  
4 doing stat surveys. we ran a very efficient, very detailed  
5 infection control program.

6 Q Did you have any admitting privileges during that  
7 time frame, we're talking about from 1996, '97 when you  
8 stopped your clinical practice through April 30th, 2001?

9 A I had continued to have my admitting privileges, yes.

10 Q And did you serve as an attending physician during  
11 that time frame?

12 A No.

13 Q Did you serve as a consultant in terms of direct  
14 patient care, in other words, an attending physician has you  
15 see a patient in order to make treatment recommendations?

16 A You would be called by various attendings to make  
17 recommendations, but your recommendations were made via your  
18 position with the hospital as head of infection control  
19 epidemiology. I was no longer writing on the chart in terms  
20 of a physician order that the nurses were following.

21 Q would ~~it~~ be fair to say that you were not making  
22 treatment recommendations or diagnoses for the purpose of that  
23 particular patient's treatment, rather you were rounding and  
24 being consulted from the perspective of the hospital's  
25 infection control issues?

11

1           A     Not entirely.    ■ say this in many respects a legal  
2     respect, because much to my consternation we are now -- the  
3     epidemiologist, infectious control people are now being held  
4     liable in suits related to infection, related to our decision  
5     process. So whereas five years ago I may have said yes, I  
6     tend to agree with that statement; now it may be what you  
7     imply, a more corporate sense, but really the law is not  
8     necessarily interpreting it that way, that they're taking out  
9     these individuals now and mentioning them.

10          Q     okay. Frankly, I'm not trying to reach legal  
11     conclusions, I'm sorry, I'm probably belaboring this more than  
12     it's worth. The problem that arises is probably my own  
13     ignorance and unfamiliarity, when it comes to words like  
14     consulting, because to me consulting can mean anything. So  
15     I'm trying to get the best picture I can of what it means for  
16     you to serve as a consultant to hospitals during this time  
17     frame that you were no longer engaged in a predominantly  
18     patient oriented clinical practice. Can you help me with  
19     that? Maybe you've done it as best you can, but if you can  
20     sort of describe the change in your career and your patient  
21     contacts and responsibilities, that would be helpful.

22          A     well, I sort of thought I had done that about the  
23     best ■ can.

24          Q     okay.

25          A     ■ think it would just be a repeat of what I've said.

1 Q okay. Prior to the cessation of your clinical  
2 practice in 1996, '97, what was the nature of that practice?

3 A It was exclusively a consultant infectious disease  
4 practice right from the early '80s when I finished at Duke.  
5 That is all my practice has been. And initially I was chief  
6 infectious disease at St. Joseph's and head of their  
7 infectious disease teaching program. In fact, Dr. Martinelli  
8 and Dr. Duriex, I was their professor for when they did  
9 internal medicine. And ultimately they came back to join me  
10 obviously in infectious diseases.

11 we would round on -- or I would personally between 40  
12 to 100 patients a day. And the few years that I was solo, I  
13 literally at times would round on 80 patients physically in a  
14 day, starting at 6:00 in the morning, going until 10:00 at  
15 night, 11:00 at night. This practice now probably carries  
16 over 100 patients a day on service with the three doctors. we  
17 were incredibly busy clinical infectious disease, not  
18 research, clinical infectious disease. And I think if it's  
19 there, we've seen it.

20 Q During that stage of your career, again we're talking  
21 about prior to the '96-'97 --

22 A correct.

23 Q -- time frame, your clinical practice was patient  
24 oriented as opposed to infection control oriented, is that  
25 fair, or am I missing --

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS ☎ 806.763.0036

13

1 A I have been chief of hospital epidemiology since the  
2 year I left Duke. So that has always been -- see, I regard  
3 that as clinical. To me that's all the same, infectious  
Page 11

4 disease, infection control, epidemiology, I see as very  
5 tightly interwoven.

6 Q But you did specifically write orders on patients,  
7 examine patients, and direct a significant portion of your  
8 practice to patient care during that time frame before 1996-97  
9 cessation of your clinical practice; is that true?

10 A Absolutely. As I mentioned, I would physically see,  
11 be responsible for 60, 80 or more patients a day.

12 Q okay. we've talked a bit about your responsibilities  
13 from 1996-97 to April 30th, 2001. And what did you do  
14 professionally after April 30th, 2001?

15 A until the last probably six weeks or so I have been,  
16 rather than building up, knocking down for health reasons. I  
17 just, I got to the phase where I stopped taking new  
18 medical/legal cases, for instance, didn't take consultant work  
19 from out of town, and only in the last couple of months have I  
20 allowed myself to open up again to getting back to being  
21 busier clinically. And that's because I have the time now  
22 from having cut out all the other business sides.

23 Q From April 30th, 2001 until about six weeks ago, how  
24 many hours a week did you devote to your professional  
25 activities of all types, whether that's legal consulting,

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

14

1 whether that was consulting for health care institutions or  
2 whether that was lecturing?

3 A I could get down from zero hours in a week to maybe  
4 ten in a week.

5 Q what percentage of your professional time during this  
6 time frame of April 30th, 2001 until about six weeks ago, what

7 percentage of your time was spent in legal consulting?

8 A During that time the hours may have been much less,  
9 but the percentage would have been higher because I was  
10 cutting everything else out. So the hours might be the same,  
11 but the percentage would be maybe 60 percent. And normally it  
12 would have been much, much less than that.

13 Q I don't mean to pry, but what were the developments  
14 that have happened within the last six weeks that are  
15 permitting you to increase your activities?

16 A well, I think running the company, the time stress of  
17 it, just the physical stress, emotional stress of it, was too  
18 much for me, was forcing me bluntly to push drugs harder than  
19 what I should have. You see one result of a missing tooth.  
20 If you look to my legs, you would see the other result of it.  
21 It was just, I mean I had to give in to the fact that I've had  
22 Parkinson's for ten years and I had to cut back. And I've  
23 dramatically cut back and now can do other things.

24 Q so what are you now doing?

25 A what I mentioned earlier, I've started to actively go

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

15

1 out again, because it's my first love, and get back to the  
2 epidemiology and infection control, that kind of thing.

3 Q And what are you doing in that respect, are you  
4 consulting for anybody, are you on staff anywhere? I'm trying  
5 to understand.

6 A Theoretically I'm still on staff at Covenant, but I  
7 mentioned earlier that just in the last week or two, I've been  
8 consulting elsewhere on epidemiology, infection control,  
9 quality assurance. And they've asked that I continue with the

10 quality assurance side.

11 Q where are you consulting currently?

12 A That is at San Angelo Community Hospital.

13 Q where is that?

14 A San Angelo.

15 Q And when was your first affiliation with San Angelo?

16 A April 22, 23.

17 Q of 2002?

18 A Yes, that should be a Monday, Tuesday.

19 Q Is there any other health care facility that you're  
20 doing consulting work presently?

21 A No, because I just in the last couple of months  
22 allowed myself to accept these again.

23 Q And what have you done so far for San Angelo  
24 Community Hospital?

25 A well, I can't give you my report to them, which was

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

16

1 about four or five pages. But I've made a great deal of  
2 recommendations pertaining to infection control issues in, out  
3 of the OR, spent a lot of my time there actually in the OR,  
4 reviewing a lot of surgical cases and preps, a lot of quality  
5 assurance issues with the physician.

6 I've been asked to come back and do a lot of  
7 antimicrobial reviews, appropriate use of antibiotics,  
8 appropriate drug use, and also do quality assurance for them,  
9 because I have quite a reputation  
10 of being good at that, as an outside person, because being a  
11 150, 200 bed hospital, they have trouble doing it internally,  
12 as a lot of hospitals do in this country.

13 Q How many days have you spent at San Angelo Community  
14 Hospital?

15 A I mentioned, April 21, 22.

16 Q okay. And have you been back there since that time?

17 A That was just last week. obviously a lot of time has  
18 been spent on that since I've been there.

19 Q Well, I guess I'm a little bit stuck on the "a lot of  
20 time" because it seems to me that's been a pretty brief  
21 contact with San Angelo Community Hospital. How many hours a  
22 week are you working now?

23 A well, you have to realize we're at the inception of  
24 something. So I'm not a sorcerer, I can't tell you what the  
25 future is going to be and how many hours and such. All I can

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

17

1 tell you is there's going to be a great deal of work just to  
2 finish off what my initial recommendations are to them. And  
3 it's the medical staff that have asked me to come back to do  
4 all the teaching to medical staff, lecturing, and it's the  
5 medical chief of medical staff that's asked me to come back  
6 and continue with the quality assurance and overview of their  
7 infection control system and such.

8 Q Is this a project work that you're doing for them or  
9 are you coming on as long-term employee or what's the  
10 arrangement?

11 A Employee is not -- not an employee.

12 Q You're a consultant?

13 A Their desire is as a long-term consultant  
14 relationship.

15 Q Dr. Kerr, how long have you been doing medical/legal

16 reviews?

17 A Probably 20 years.

18 Q And do you have an estimate as to how many cases you  
19 have reviewed over the 20 years?

20 A Total I couldn't give you.

21 Q In a typical year, how many cases do you review?

22 A In the first 15 years, probably anywhere from zero to  
23 three or four, two or three.

24 Q How did that change after the first 15 years?

25 A As I had to cut back on other things, I liked

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

18

1 medical/legal. obviously I was an incredibly busy clinician.

2 And I had a lot of defense work that came my way, with some of  
3 the malpractice -- what would it be -- the malpractice, the  
4 medical malpractice companies, insurance companies. Mainly  
5 TMLT, which is Texas Medical Liability Trust.

6 Q And you're implying then that, in the last five  
7 years, the number of reviews that you did increased?

8 A Definitely.

9 Q Can you give me an estimate as to how many reviews  
10 you did on an annual basis over the last five years?

11 A I would say I've probably taken on ten to 15 cases a  
12 year, or I would review ten or 15 cases.

13 Q Have you testified in court?

14 A Yes.

15 Q How many times?

16 A Several. To say how many times now, going back over  
17 the years would be a guess, but it's under 20. It's more than  
18 five. very few cases go to court.



19 Q can you give me a reasonable estimate of the  
20 percentage of the cases that you testify on behalf of the  
21 patient versus those in which you testify on behalf of the  
22 health care provider?

23 A The first 15 years, it was probably about 80 percent  
24 on behalf of the health care provider. subsequent to that in  
25 the last five years to now has slowly switched, and it's

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

19

1 probably 60 to 70 percent now ■ would say on behalf of the  
2 plaintiff.

3 Q we talked about your medical/legal reviews and your  
4 testimony, are these predominantly in medical malpractice  
5 cases?

6 A Yes, but I'm not -- I'm trying to think what the  
7 other -- what the alternative is.

8 Q Automobile accidents, slip and falls, things like  
9 that. I assume that, because of your specialty, you're almost  
10 always involved in medical malpractice cases.

11 A Right. These are always infectious disease and  
12 medical malpractice.

13 Q okay. That's what I assumed, but ■ realized ■ hadn't  
14 asked the questions very artfully.

15 MR. PARKER: Off the record.

16 (off-the-record discussion.)

17 Q when were you first contacted with regard to the  
18 Zimmeman case?

19 A In one of these volumes is the opening letter from, I  
20 think, Mr. Linton. And ■ really cannot remember. I think it  
21 was back in 2000. I'm not sure.

22 Q Had you ever had any contact with Mr. Linton before  
23 that date?

24 A I do not believe so.

25 Q Have you ever served as an expert witness or as an

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

20

1 expert consultant for Mr. Linton's firm?

2 A No, ■ do not believe so.

3 Q okay. Do you have an understanding as to how  
4 Mr. Linton got your name?

5 A No.

6 Q what were you asked to do?

7 A To review the care of Ms. Zimmerman and to give an  
8 opinion as to the issues on the case.

9 Q I've been provided with a report, and I'm going to  
10 show you what's been marked as Exhibit one. can you tell me  
11 what that is?

12 A That is the report following our review of the case.

13 Q Are you the author of the report?

14 A Dr. Martinelli and I.

15 Q ■ can see that Dr. Martinelli and you both signed  
16 this report. How is this drafted?

17 A You'll have to -- we did it on our word processor.

18 Q I'm trying to understand how two people author a  
19 single draft of a report. It seems to me that most often  
20 somebody proposes a draft and then solicits comments on it.

21 A well, actually if you understood the relationship  
22 between the doctors in this office and myself, we sit around,  
23 and still do, and fire at each other. And after both of us  
24 had reviewed the case, we sat around and tried to, in essence,

25 destroy each other, came up with common grounds, common ideas,

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

21

1 sat down, put **it** on paper.

2 Q who typed it?

3 A ■think I started. Martinelli got fed up with my  
4 typing and he took over. I think that's how **it** ended up.

5 Q when was it done?

6 A when the report exactly was done, I'm not sure.  
7 There should be a date -- oh, I'm not sure. ■would have to  
8 look on billing records to see when that was at least billed  
9 for. I assume there was a date on **it**.

10 Q You have billing records for this case?

11 A You're asking a difficult question. Having shut down  
12 my business, I dearly hope so. But since my business got shut  
13 down, I have not done anything that I've billed the Linton  
14 firm for, so I will, but it's going to be somewhere in my  
15 archives at home.

16 Q If there are billing records for this case, who  
17 maintains them?

18 A I should have them somewhere at home. or on a zip  
19 disk.

20 Q How much time have you put into this case?

21 A without those records in front of me, it's hard to  
22 say, because you know, you spend a significant amount of time  
23 and then you go for months or a couple of years without almost  
24 looking at **it**, and then you again spend significant time  
25 before the deposition trial, I would think probably 15, 20

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

- 1 hours.
- 2 Q Have you billed for any of your time yet?
- 3 A oh, yes, the time spent upon the initial contact
- 4 receiving the records, Mr. Linton has been billed, and I'm
- 5 certain the preparation of this document has been billed.
- 6 Q what are your fees?
- 7 A \$400 an hour. And \$600 for deposition and trial.
- 8 Q The \$400 an hour is the fee that applies both to
- 9 review of materials and authoring of the report?
- 10 A Yes.
- 11 Q Does Dr. Martinelli bill in addition to the fees that
- 12 you're billing for review, ■ assume?
- 13 A correct.
- 14 Q You mentioned a moment ago about your business having
- 15 been shut down. What was the name of that business?
- 16 A complete Practice solutions.
- 17 Q And is it Complete Practice Solutions that is the
- 18 corporation that bills for your expert witness consulting?
- 19 A No, that's Infection Info-Med.
- 20 Q Infection Info-Med?
- 21 A correct.
- 22 Q Does Infection Info-Med conduct any business other
- 23 than providing your services as an expert witness?
- 24 A Yes, it in essence is the umbrella that I do
- 25 everything under. I do my hospital consulting under. ■ do

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

- 1 epidemiology, everything.

2 Q I'm confused as to the relationship between Expert  
3 (sic) Info-Med and Complete Practice solutions.

4 A There is no relationship, except I'm primary of both,  
5 or was of CPS. Infection Info-Med is something that I've had  
6 for years and have done lecturing under, outside consultation  
7 work under, and such.

8 Q And what does complete Practice Solutions do? I'm  
9 sorry. I'm confused.

10 A Nothing now.

11 Q what did it do before?

12 A It provided service and sales of software and such  
13 medically related.

14 Q You told me a few minutes ago that the business that  
15 shut down was complete Practice solutions and that that was  
16 what was going to make it difficult for you to locate billing  
17 records for this case?

18 A correct.

19 Q Yet you also told me that the billing for your  
20 services as an expert witness would be through Infection  
21 Info-Med?

22 A correct.

23 Q So I'm terribly confused.

24 A well, you probably have a bigger office than I do.  
25 when you lose your secretary for one, you lose your secretary

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

24

1 to everything. so I now an Infection Info-Med in every  
2 position from top to bottom, which means I have records in my  
3 basement now at the house of everything that I've ever done,  
4 hopefully, in Infection Info-Med, but I have no idea where it

5 is. So ■ hope -- and they have to be there, but I'm now my  
6 own secretary and ■ have to find it.

7 Q ■ may find myself coming back later to some of these  
8 issues, especially when I talk about your curriculum vitae,  
9 but let's talk about this case. That's really what we're here  
10 about.

11 Have you prepared any report other than the one that  
12 is identified as Exhibit one?

13 A No.

14 Q And does the report identified as Exhibit One, does  
15 it cover the subject matters that you anticipate testifying on  
16 in this case?

17 A Yes.

18 Q Are there any other subject matters that you  
19 anticipate testifying on?

20 A In my opinion, this covers our opinion, in my  
21 opinion.

22 Q You may or may not be aware that there are other  
23 experts who will be testifying as to other kinds of criticisms  
24 in this case. And I want to make sure that ■ understand the  
25 parameters of where you're critical in this case. And so I

CATHY SOSEEEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

25

1 want to start off with this report, Exhibit one, and again  
2 just make sure that there are no additional areas that you  
3 feel you need to amend in order to fairly apprise me of the  
4 subject matters you'll be talking about.

5 A Our opinion's going to -- is here, contained within  
6 this report.

7 Q okay. I take it then -- the report does not address,

8 for instance, anything about indications for or  
 9 appropriateness of the cingulotomy, capsulotomy, or  
 10 psychosurgery in general; is that correct?

11 A I'm an infectious disease physician.

12 Q All right. And there --

13 A I pretend no specialty into neuropsychiatric.

14 Q That's fine. And so you will not be offering  
 15 opinions as to those issues; is that fair?

16 A No, nor have we been asked to.

17 Q That's fine. Similarly, your report does not discuss  
 18 anything about institutional review board reviews, I take it  
 19 you're not going to be addressing that issue, correct?

20 A I'm unaware of that issue so . . .

21 MR. RUF: Doctor, that goes to the psychosurgery  
 22 issue.

23 THE WITNESS: Okay.

24 A NO.

25 Q And that's fine. These aren't -- these are not a

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

26

1 series of trick questions. This is to make sure that I cover  
 2 the subject matter I need to cover, and that I am not  
 3 surprised at trial if you testify to things that I'm not  
 4 seeing in the report. I want to make sure they're not in the  
 5 report, and I want to make sure you're not testifying to them  
 6 at trial.

7 The issue of the use of multidisciplinary panel  
 8 selection boards for psychosurgery candidates, that's not  
 9 something you've spoken to in your report. I take it it's not  
 10 something you'll be testifying to at trial, correct?

11 A correct.

12 Q The safety or efficacy of psychiatric surgeries,  
13 you're not going to be talking about that, correct?

14 A correct.

15 Q ■don't see any allegations of fraud or fraudulent  
16 conduct in your report, I take **it** that you're not going to be  
17 expressing opinions about fraud or fraudulent conduct?

18 A ■think the answer to that is no.

19 Q No, you're not going to be expressing such opinions,  
20 correct?

21 A correct.

22 Q As to the issue of whether the potential brain  
23 abscess should have been drained, is that something that you  
24 defer to the neurosurgeons? ■don't see **it** addressed in your  
25 report.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

27

1 A well, that to me is not an issue that we address  
2 specifically here. To me, that's an issue of the total care.  
3 And ■think that is for discussion. So the issue of the  
4 abscess, I'm not saying **it** won't be discussed. It's not a  
5 critical part, to be honest with you, of this report.

6 Q I take **it** that in discussing infection, cause of  
7 infection and whether or not there was substandard care in the  
8 infection, we're going to be talking about the abscess, I  
9 understand that?

10 A correct.

11 Q will you be expressing an opinion as to whether or  
12 not this patient's presumed abscess should have been  
13 surgically drained?



14           A     Personally, ■ don't take it as a presumed abscess.  
 15     To me, there definitely was an abscess. If asked, we will  
 16     discuss the issue of extirpation of the abscess drainage,  
 17     stereo tactic drainage. So it's part of the discussion. It's  
 18     not a critical issue that was addressed here in terms of  
 19     commentary.

20           Q     okay. It was not addressed in the report in terms of  
 21     commentary, is that what you're telling me?

22           A     Right, But it's part of the treatment of this lady.

23           Q     Do you have an opinion as to whether the failure to  
 24     surgically drain the abscess constituted a breach in standard  
 25     of care?

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

28

1           A     Yes, we have an opinion on that.

2           Q     And what is that opinion?

3           A     Given -- it's not in this report, it's we do not feel  
 4     it was a breach of the standard of care. we may have  
 5     different opinions, different attitudes, but you can differ on  
 6     what you might ideally do, but not regard it as a necessary  
 7     breach of the standard of care.

8           Q     In the report marked as Exhibit One, I don't see any  
 9     criticisms in the standard of care as to the treatment of  
 10    Mrs. Zimmerman's infection once that infection was clinically  
 11    recognized. Did ■ read it correctly?

12          A     correct.

13          Q     Do you — will you be expressing an opinion as to  
 14    whether there was impropriety in the treatment of the  
 15    infection once the infection was recognized?

16                   THE WITNESS: Can you repeat the exact wording

17 of that question, please?

18 (The court reporter read back the record as  
19 requested.)

20 A We have an opinion -- I have an opinion. And that is  
21 I feel the infectious disease and the treatment, once the  
22 infection was recognized, was reasonable and appropriate, we  
23 may do things differently, but there are different ways to  
24 accomplish the same. So I guess that's -- when I state, this  
25 is our opinion, I state that we have opinion on all this

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

29

1 management because of the abscess.

2 Q was there any breach of standard of care in  
3 recognizing the onset of this infection?

4 THE WITNESS: will you repeat that again?

5 (The court reporter read back the record as  
6 requested.)

7 A By that you're not talking causation issues and such,  
8 your question is, once post op, do we feel there was any  
9 breach in the standard of care getting to the diagnosis made  
10 on 10/4, 10/5 of the infection?

11 Q I think that's one fair way to restate my question.

12 A I have concerns, per the hospital record, on issues  
13 of the record. I will not call itself a major breach in the  
14 standard of care, but I have some real problems with parts of  
15 that record.

16 Q okay. Was the onset of infection recognized in a  
17 timely and appropriate manner?

18 A Yes, I think so.

19 Q Do any of the institutions that you have been

20 affiliated with perform psychiatric surgeries?

21 A Perhaps I -- ■ can't say for sure. Here at Covenant,  
 22 I'm quite certain not. In Denver, ■ truly do not know.  
 23 Except when ■ was in Denver, I'm not sure if they were doing  
 24 the stereo tactic techniques that they are now. But you said  
 25 psychiatric surgery, and I think the answer would be no.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

30

1 Q Do you recall treating a patient post op from  
 2 psychiatric surgery?

3 A Post op psychiatric service, no, or else my answer  
 4 would have been different.

5 Q Sure. So you have never treated a patient in, a post  
 6 op patient from a cingulotomy?

7 A Well, you switched there from psychiatric to  
 8 cingulotomy.

9 Q That's a good point, You're absolutely right.

10 A We do do stereo tactic surgery. So that I mean, I'm  
 11 approaching the time I'm going to get it myself, so the switch  
 12 in your question, the first to psychiatric is definitely no.  
 13 I have followed and reviewed a lot of stereo tactic surgery.  
 14 Now cingulotomy, I can't tell you for sure exactly what  
 15 they've been.

16 Q okay. Can you give me an estimate of how many  
 17 patients, if any, you have followed post cingulotomy?

18 MR. RUF: Well, objection, he just said he  
 19 doesn't know about cingulotomy, He knows about patients  
 20 stereo tactically.

21 Q That's fine. I understood that as well. But ■  
 22 wanted to make sure that I understand the question fairly,

23 that he --

24 A So cingulotomy ■ can't -- ■ can't give you a number.

25 Q okay. can you tell me whether you have treated

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

31

1 patients postoperative from anterior capsulotomy?

2 A Again you're asking such a defined question, no.

3 Q Do you know whether you've had experience treating  
4 patients post operatively from limbic leucotomy?

5 A Again, asking that question, I don't know.

6 Q okay. You have told me -- and you're *itching to tell*  
7 *me* that you've treated patients postoperatively from stereo  
8 tactic surgery. Let's make sure we're talking about stereo  
9 tactic brain surgery.

10 A correct.

11 Q How many patients have you seen postoperatively from  
12 stereo tactic brain surgery?

13 A Actually none. My following of it has been reviewing  
14 for any complications and such, so it was from the infection  
15 control epidemiology side.

16 Q At what institutions have you reviewed such cases?

17 A covenant.

18 Q How many such cases have you reviewed?

19 A I'm not sure what the total number was. The reason  
20 we reviewed it was when they started doing it, they were doing  
21 quite a few, in terms of, I think, more than a couple a week.

22 Q Can you give me a reasonable estimate of the number  
23 you've reviewed?

24 A over 20.

25 Q over what time frame?

1           A     I'm not sure how long a time frame that was, probably  
2     a six-to-eight month, six-to-12-month time frame. It became a  
3     rather new surgery with one or two of our neurosurgeons, and  
4     we went in and did a complete review retrospective in terms of  
5     what had been done, prospective to insure that there weren't  
6     problems with it.

7           Q     when you looked at these roughly 20 cases, did all 20  
8     of these cases involve postoperative infections, is that why  
9     you were reviewing them, or you were reviewing an entire  
10    series?

11          A     we were reviewing them to insure that there was  
12    nothing that was going to catch us untoward, and no, they were  
13    all uncomplicated.

14          Q     All right. Mrs. Zimmerman obviously suffered a  
15    postoperative infection. what were the possible sources of  
16    that infection?

17          A     There are three potential sources. One, given at the  
18    time she had positive cultures both from her wound and from  
19    the blood, obviously there's a potential of bacteremia spread.  
20    secondly, there's a potential of it being a secondary wound  
21    infection that tracks down to ultimately formation of the  
22    abscess. And thirdly is an inoculation of the bacterium  
23    directly into the site of the abscess at surgery.

24          Q     Any other possibilities?

25          A     Those would be the three mechanisms.

1 Q which mechanism was responsible for Mrs. Zimmerman's  
2 infection, if you know?

3 A My opinion, of which I feel with a great degree of  
4 certainty, is that it was inoculated at the time of surgery.

5 Q Tell me why.

6 A I think in saying why, we can go to the other  
7 methodologies and show why not and then the reasons why in  
8 terms of the direct inoculation.

9 Q okay. why not bacteremia?

10 A Bacteremia implies a focus of infection elsewhere.  
11 secondly, that focus has to seed the brain, and in this case,  
12 we have a singular abscess of which that is not impossible, it  
13 occurs with some areas of seeding. There are many reasons why  
14 there's no evidence of a peripheral foci. Most common areas  
15 to go from central foci to infect the brain are lung, usually  
16 those are upper lobe of the lung, frequently in otherwise ill  
17 patients, drunks or such, and may frequently involve mixed  
18 anaerobes. odontogenics is a common source, either by  
19 bacteremia or by direct spread. odontogenics being dental and  
20 the like.

21 The other is endocarditis. This lady was picked up  
22 by the infectious disease consultants to have a very low grade  
23 murmur. A murmur is always significant for the issue of  
24 endocarditis, but it was an unimpressive murmur to them. They  
25 followed it, never felt there was endocarditis. There was no

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

□

34

1 evidence of endocarditis. The dominant areas that you would  
2 seed the lung in the system -- or excuse me -- seed the brain,  
3 there is no evidence of infection. Now, there is talk about

4 she had some incontinence of stool periodically and alluding  
5 to there may have been a di -- enteritis or such with this  
6 lady. ■ see no true evidence of that in the chart. The  
7 klebsiella oxytoca most certainly can cause diarrhea. The  
8 staph aureus would be very uncommon from that source. The  
9 other part that's very much against a foci of infection  
10 causing bacteremia seeding the area of the brain and causing  
11 the abscess is these three infectious disease doctors are all  
12 excellent. ■ know of all three of them. I know McHenry very,  
13 very well. He's been at this job for years. what's  
14 interesting is they brought up that as one of the issues of a  
15 potential etiology.

16 Q Brought up what as one of the potentials?

17 A The bacteremia. A very telling feature is look at  
18 what they did. They did not seek actively within the clinical  
19 record an alternative foci. Because a very intense, very  
20 thorough examination, they were not concerned about an  
21 alternative foci causing a bacteremia, seeding the brain  
22 abscess. Sort of from the academic perusal of what areas  
23 cause a seed to the brain, and secondly, reviewing the work  
24 of, bluntly, excellent infectious disease people not pursuing  
25 that area, ■ don't think it's just my opinion that it was not

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

35

1 a bacteremia. secondly is the site of a wound infection going  
2 deep to cause the abscess.

3 Q okay. so let me just to interpose a question here.  
4 ■ take it that you're about to tell me why you don't believe  
5 it was secondary to a wound infection track down?

6 A Yes.

7 Q okay.

8 A And let me tell you, this is the longest answer I've  
9 ever given in 20 years to a legal question. why not a wound  
10 infection going deep to cause the brain abscess? And this is  
11 where I -- the concerns I mentioned earlier, some of the  
a2 review of the medical record, I have questions about.

13 And the reason this comes up, I think to any  
14 significance, is that various people talk about this lady  
15 potentially picking at her wounds. And that is mentioned once  
16 by physicians within the record. It's mentioned in a  
17 deposition by, I believe it's the chief neurosurgeon resident  
18 goes on actually for some time about that potential. And  
19 others talk about it. one of the individuals giving expert  
20 opinion talks about it. But nowhere in the record do I ever  
21 see a physician state that she was picking at her wounds.

22 And I believe only one day postop, it may have  
23 mentioned that, that she had her arms sort of up, but  
24 otherwise when you review the nursing notes and they do the  
25 gradation of neurological assessment, they assess her at a

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

36

1 point where she is not raising her arms and such above  
2 gravity, which you cannot be doing this (indicating) and  
3 picking -- excuse me, I realize you can't write doing this --  
4 arms above the level against gravity and picking at your  
5 scalp.

6 And so there's comment on this, and a lot is made of  
7 it by various people. But detailed review of the nurse's  
8 notes would tend to indicate that that is highly, highly  
9 unlikely. So I take significant exception to the issue that



10 she may have caused this in any way by picking at her wound,  
11 That does not imply it could not occur the other way. Lack of  
12 sterile technique on staff handling the wound postop could be  
13 a cause.

14 But I very much doubt it, because if a wound  
15 infection occurs, you're stating that your primary infection  
16 is a wound infection. Your wound is not going to heal  
17 beautifully. For the most part, neurosurgery states they have  
18 a clean, dry wound, and it looks good. In fact, to the extent  
19 that on the neurosurgical note of October 2nd --

20 MR. RUF: You can look at the records if you  
21 need to.

22 THE WITNESS: Yeah, I would like to look at the  
23 record on that date. can we break just for a second?

24 MR. PARKER: Yeah, why don't we take a minute.

25 (A break was taken.)

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

37

1 Q Let's go back on the record, you were continuing to  
2 explain why you believe this was not a wound infection that  
3 tracked down and you wanted to make reference to a notation of  
4 October 2.

5 THE WITNESS: Can you read me at least the last  
6 20 seconds of what I was saying?

7 (The court reporter read back the record as  
8 requested.)

9 A on that, and I don't profess this to be absolutely  
10 God's truth on what they're saying, but on the physical exam,  
11 I do believe I can read this. Neuro unchanged -- it's the  
12 short form with the pi -- wound C and D -- which I'm assuming

13 at Cleveland clinic is clean and dry -- one stitch placed at  
 14 right. And I believe that's frontal. That's -- that is a  
 15 very weird note. And we have clean and dry, clean and dry and  
 16 such from neurosurgery all along. Then we have on the 2nd, a  
 17 stitch placed, but no explanation of why. I mean that's a  
 18 very difficult note, because I'm left, why did they place the  
 19 stitch.

20 YOU go back and review the nurse's notes and you see  
 21 that periodically there has been crusting. There has been  
 22 serosanguinous drainage. And it appears this stitch was  
 23 placed because of drainage. So these wounds were not overtly  
 24 purulent. They were healing, but they were never free  
 25 entirely from drainage, and when I say wounds, I cannot say

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

38

1 all four. At times it is not totally clear which exact wound  
 2 they're talking about.

3 But there is a disparity between the nurse's notes  
 4 and the neurosurge notes pertaining to the wound. The stitch  
 5 is placed. what's very interesting is that stitch is placed,  
 6 within about 36 hours Ms. zimmerman exhibits the signs and  
 7 symptoms of significant infection: Fever's up, chills, And  
 8 on the 4th overt pus, drains, I believe, from the left and I  
 9 believe it's the 5th from the right. Now the placement of  
 10 that stitch is fascinating. Because did that close out the  
 11 site which was relieving the pressure internally. And what  
 12 placing that stitch did was manifest perhaps a little bit  
 13 earlier and forced the manifestation of her infection by  
 14 causing lack of drainage, higher pressure, and now a  
 15 bacteremia from the brain abscess.

16 Q well, do you believe that happened or are you just  
17 raising that as an issue and you don't know whether that  
18 happened?

19 A I believe that happened. And the other part is then  
20 the timing and the scans and looking at the brain abscess. If  
21 you go through the pathophysiology of a brain abscess,  
22 normally it has four phases, there's an early cerebritis, a  
23 late cerebritis usually the first few days, the next four to  
24 five days. Between about nine and eleven days, nine and 13  
25 days, you'll get early capsulation, and 12 and on and 14 and

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

39

1 on, you'll get light capsulation. well, if you go to surgery  
2 on the 22nd and the scan that is taken with contrast, which is  
3 I think about the 6th or 7th, you have a very nicely defined  
4 enhanced encapsulated abscess. You have on scans prior to  
5 that strong indication of probable abscess. The timing fits  
6 beautifully with the known pathophysiology of the formation of  
7 brain abscess, even with the Decadron on board, which can  
8 delay some of these classical signs and symptoms appearing.  
9 It does not fit with a wound infection occurring later,  
10 tracking down then to form the abscess.

11 Q why not?

12 A Because the -- it would -- it should take  
13 considerably longer if you evoke a wound, especially a wound  
14 that never showed overt evidence of infection at the site.

15 Q Are you telling me that if this was a wound abscess  
16 that tracked down, then we should not have seen a brain  
17 abscess until even later?

18 A It's whether or not she would have had brain abscess,  
Page 35

19 whether you would have had another form of infection. But she  
20 could have an abscess by tracking down. But ■feel it would  
21 have been later than this.

22 Q How much later?

23 A That's totally hypothetical, And the issue that I  
24 feel there's not a wound infection, you know, that's -- I'm  
25 not going to get into trying to split hairs as to timing,

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

40

1 because what I'm saying is there was no wound infection. The  
2 wound was evident of drainage, yes. Neurosurge notes say no  
3 problem. I believe there was a problem and symptoms with  
4 those wounds earlier, by reviewing the nursing notes, that's  
5 why neurosurge placed a stitch for no apparent reason on their  
6 notes. obviously they had a reason. I think the reason was,  
7 there was egress allowing it to maintain a lower pressure  
8 state that they closed off.

9 Q Do you acknowledge the stitch may have been placed  
10 simply because there was wound dehiscence?

11 A Well, of course wound dehiscence has to have a  
12 reason, and at that late stage post op, ■suspect the reason  
13 given, neurosurge is quite unimpressed by the wound was not an  
14 overt evident wound infection, but there was continued  
15 drainage that was not healing.

16 Q where was Mrs. Zimmerman's brain abscess?

17 A It was frontal right, and we've not seen all her  
18 scans. we are going purely by reports.

19 Q okay. can you describe for me the structures that it  
20 involved or was next to?

21 A No, I'm not going to portend to.

22 Q Have you basically outlined for me the reasons that  
23 you do not believe that her abscess was a consequence of  
24 bacteremia or a consequence of wound infection that tracked  
25 down?

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

41

1 A Yes.

2 Q All right. So that leaves you with the opinion that  
3 it was inoculated at the time of surgery?

4 A Yes.

5 Q In what manner?

6 A My personal opinion is that it was inoculated with  
7 the probe. one, for the reasons that I feel strongly it was  
8 not bacteremia or a superficial surface wound infection  
9 tracking deep, therefore, that has to be the modality by which  
10 the infection occurred. And I believe it was both staph  
11 aureus and kleb oxytoca -- klebsiella oxytoca that were  
12 infiltrated directly to the area of the abscess. And I feel  
13 that occurred through what, in my opinion, is a clear breach  
14 of the standard of care in contamination of that probe.

15 Q oak. what did the clinic do wrong in its  
16 sterilization techniques?

17 A The use of ethylene oxide is fully appropriate.  
18 Ethylene oxide when the testing is properly done is an  
19 excellent methodology. However, there are -- this is a  
20 mammoth organization, huge number of surgeries, and an  
21 incredible number of surgical instruments that go through this  
22 procedure.

23 what is not known is, was everything properly free of  
24 debris, cleaned properly before it was in the ethylene oxide.

25     **I**t has to be to be properly sterilized.   secondly, with the

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

42

1     numbers that go through and the crowding, the number of  
2     instruments they try to get into those machines, I'm always  
3     concerned that individuals may not separate the probe from the  
4     sheath.

5             And **i**f you leave the probe in the sheath, then the  
6     humidity, the penetrance of the ethylene oxide, that is all  
7     going to be distorted from what the ideal is, and you may well  
8     not get appropriate sterilization of the probe within the  
9     sheath. **I**t needs to be separate. And the other is in the  
10    post ethylene oxide. ~~Was~~ there a process by which there was a  
11    break in sterile technique.

12            once you get to the OR, with the probe was there a  
13    break in sterile technique. The other is the actual prep of  
14    the scalp and the wound area. And the staphylococcus aureus,  
15    very uncommon in stereo tactic type procedures, but is a true  
16    surgical risk. That is an endogenous flora of the skin. That  
17    can occur.

18            Kleb oxytoca may be present on the skin. **I**f present,  
19    **i**t is a transient. And a transient should be removed by the  
20    prep, **i**f the prep is done properly. And were this to have  
21    been acquired from the skin of the patient that contaminated  
22    the probe, then you have, I feel, a clear break in the  
23    preparation of the patient.

24            **Q**    I have just heard you raise a number of  
25    possibilities. I don't know that I got them all down or not.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

1 Let's see if ■ understand some things a step at a time. First  
2 of all, ethylene oxide is an appropriate method for  
3 sterilizing surgical probe?

4 A Yes.

5 Q All right. You have raised the possibility that  
6 there was too much in the way of surgical instruments run  
7 through the sterilizer. Did ■ hear that correctly?

8 A well, I know what busy ORs do because this is one of  
9 the things ■ follow, and one of the problems we have often is  
10 just overcrowding because the ORs are busy and surgeons are  
11 demanding their instruments.

12 Q so an overcrowding of the sterilizer is a  
13 possibility?

14 A Not the overcrowding that concerns me. The issue  
15 would be would that cause somebody to have a greater  
16 likelihood of leaving the probe in the sheath to save room.

17 Q That's what ■ was trying to understand was whether or  
18 not those were related or unrelated. So one possibility is  
19 that a technician may not have separated the probe from the  
20 sheath?

21 A correct.

22 Q Do you know if that happened?

23 A I do not.

24 Q Another possibility is that, post sterilization,  
25 there was a breach in sterile technique in handling the probe,

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

1 do you know -- is that correct?

KERR.V1

2 A correct.

3 Q Do you know if that happened?

4 A I do not know with certainty that that happened.

5 Q Another possibility is that in the operating room  
6 there was a breach in sterile technique in the handling or  
7 utilization of the probe, correct?

8 A correct.

9 Q And do you know if that happened?

10 A Nobody wrote that.

11 Q And then another possibility is inadequate surgical  
12 site preparation?

13 A correct.

14 Q And do you know if that happened?

15 A I wasn't there.

16 Q Do you know which of those different possibilities is  
17 most likely as compared to the other possibilities, or is it  
18 just not knowable?

19 A I'm not going to put a statistical risk of each,  
20 because I really can't do that. I guess what's most important  
21 to me is the *Klebsiella oxytoca* should have not have gotten  
22 where it got.

23 Q And is it fair to say that you're drawing the  
24 conclusion that because the *Klebsiella oxytoca* got to where it  
25 shouldn't have been, that there was a deviation in standard of

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

45

1 care in some respect?

2 A Yes.

3 Q Among those possibilities that we just outlined?

4 A Yes.



KERR.V1

5 Q okay. can you tell me any specifics as to how the  
6 surgical site preparation was inadequate for this patient?

7 A I can only raise concerns, and actually this was a  
8 question I asked Mr. Ruf last night, because it wasn't clear  
9 to me, and still is not totally on review of this record, was  
10 whether the entire head was prepped, which I get the sense it  
11 was not versus four distinct areas. And I would have concern  
12 if we had four distinctive burr holes, maybe not with the  
13 amount of hair I have but the amount of hair you have, show  
14 that he's thick headed, I would have concern over --

15 Q I would hope I'm thick haired, but maybe I'm thick  
16 headed as well.

17 A Thick hair headed.

18 My concern would be with the probe and there still  
19 being hair. So it seems highly unusual to me to try to prep  
20 four individual areas, you've then covered as much as you can  
21 with just those areas exposed but if you're brushing up  
22 against the head or other things, you can, if you've got a lot  
23 of hair there, you can get organisms moving about the base of  
24 the hair. I mean, if you look at transient organisms on the  
25 skin, they're there. And they're just lying loosely. The

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

46

1 other endogenous flora, which you can accept the infection  
2 occurring are deeper often along the sebaceous gland and  
3 things like that, which is why shaving too early can be a  
4 problem because you can get inflammation and get those up.

5 So I'm not entirely clear exactly how they prepped.  
6 Assuming that the prep, everything is done the way I like,  
7 then you have to be very careful that you allow everything to

8 dry. Because only upon fully drying has the bacteriocidal  
 9 effect of the solution had its maximum benefit. And it's a  
 10 very good prep if done properly. I cannot tell you where it  
 11 wasn't done properly. ■wasn't there.

12 Q Okay. Have you reviewed any of the radiology films  
 13 themselves in this case?

14 A No, we have not been sent those.

15 Q okay.

16 THE WITNESS: ■hope they were not sent, Mark,  
 17 because if they were, they're lost.

18 A ■don't believe that any were sent.

19 Q You told me a few minutes ago about some stages of  
 20 brain abscess development. If ■wrote them down right, I  
 21 think the first stage I think you called early cerebritis?

22 A Right.

23 Q what is that?

24 A That's the earliest part of infectious process where  
 25 you develop inflammatory cell reaction. That usually goes on

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

47

1 for a few days. Then you have what's termed the stage of  
 2 later cerebritis.

3 Q And when does later cerebritis set in?

4 A well, when the first stage ends, which goes from  
 5 maybe zero to three, four days, so now you're talking three to  
 6 four days to maybe eight or nine days. You have to realize  
 7 these are not God defined absolute definitions of time, but  
 8 reasonable and approximate. That stage would have continued  
 9 inflammation, but now you start getting some necrosis and  
 10 debris within the area. Then you get the early capsulation

11 phase where you start forming a defined entity. But --  
 12 Q what's the typical onset of the early capsulation  
 13 stage?  
 14 A Eight or nine days, going to perhaps 11 to 14.  
 15 Q okay.  
 16 A And that's the phase where you're starting to get  
 17 your abscess, it's starting to define itself, starting to  
 18 early define margins to it, starting to necrose internally and  
 19 develop a thicker capsulation. Now the virulence of certain  
 20 organisms may go through this process more quickly than  
 21 others. The use of the Dexamethazone, which she was on, can  
 22 retard it somewhat. But those generally are the phases, and  
 23 it's looking at that, and the fact that she's on  
 24 Dexamethazone, that I feel very strongly ties this into direct  
 25 inoculation and not secondary to a localized wound infection.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

48

1 Q Your final stage was late capsulation?  
 2 A Right.  
 3 Q what are the characteristics and what's the typical  
 4 onset?  
 5 A That is usually anywhere between 12 to 14, 11 to 14,  
 6 or 14 days up.  
 7 Q And what is its characterization?  
 8 A It's more what you would see with that final scan  
 9 where you have a clearly defined area that enhances on  
 10 contrast being given. Not only infection enhances, a tumor  
 11 can enhance. But tends to be enhanced around the rim and have  
 12 -- to the radiologist, especially nuclear radiologist -- a  
 13 fairly classic opinion or appearance of abscess. And that is

14 a totally mature abscess at that point.

15 Q Is there any medical literature that you're familiar  
16 with that sets forth the stages and their times of onset and  
17 their characteristics?

18 A That's been defined for some time in the medical  
19 literature.

20 Q And can you point me to any specifically?

21 A I could.

22 Q Can you as you sit here?

23 A I can't just give it to you off my head, but I could  
24 give it to you pretty quickly.

25 Q Is there any literature that you have reviewed in

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

49

1 connection with your preparation for this deposition, or  
2 preparation of giving your opinions in this case, on this  
3 subject matter?

4 A Not in giving my opinions. once my opinions were  
5 made, I have gone back and reviewed some areas. So in terms  
6 of making my opinion, that was purely based on my years of  
7 experience, education, and that type of thing. The staging of  
8 brain abscess, while I know it, I had to go back and review  
9 it, because that's something I hadn't looked at in probably  
10 ten or 15 years.

11 Q okay. where would one likely go to look for it?

12 A I think a generic review that you can find in the  
13 major textbooks of infectious disease. Mandel is the one I  
14 use.

15 Q Let me ask you some questions about medical  
16 literature. Is there any medical literature that you have

17 reviewed in preparation for giving testimony in this case?

18 A In the sense of my opinion?

19 Q Yes.

20 A No. In that sense for giving this deposition, no.

21 Q Is there any medical literature that you anticipate  
22 telling a jury is authoritative?

23 MR. RUF: objection. He doesn't know until he's  
24 asked.

25 A Authoritative for what?

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

50

1 Q On any of the issues that you anticipate testifying  
2 on?

3 A well, that's pretty broad.

4 Q well, it is broad, something that lawyers sometimes  
5 do with their experts is use them in order to establish a  
6 foundation to put some particular articles or portions of  
7 article into evidence. Are you anticipating being used in  
8 that manner?

9 A I don't believe I can answer that because that  
10 response would be, if an opinion of mine is contested, then  
11 most certainly my opinions, which may be my opinions, are  
12 based on not only experience but a very broad reading over the  
13 years and education and teaching, bluntly.

14 Q And that's fair enough.

15 A So at that point -- go ahead.

16 Q But here's what I'm getting at, I didn't see any  
17 medical literature in the file that you brought here today?

18 A No.

19 Q And so I'm simply trying to protect myself in the

20 sense of whether or not there's any medical literature that  
21 has been pulled for this case that you anticipate you'll be  
22 going into trial and saying this literature is authoritative  
23 on this issue or this principle -- ■ understand things may  
24 come up and you may defend your opinions. That's not what I'm  
25 trying to do here. I'm trying to understand if you are

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

51

1 anticipating coming into trial with the intention of laying  
2 foundations for particular items of medical literature.

3 MR. RUF: I may ask him to review some  
4 literature at trial and state whether or not he thinks it's  
5 accurate and reliable.

6 MR. PARKER: Okay.

7 Q At the present time, do you -- is there anything that  
8 you have discussed or any material that you have reviewed in  
9 order to be able to do that?

10 A The answer is no, but if a comment I made were  
11 contested, then the answer would be yes. Because I could give  
12 you references.

13 Q okay. You have told me that Mandel is a textbook on  
14 infectious disease that you look to. Are there other  
15 textbooks on infectious disease that you think are  
16 particularly helpful and reliable?

17 A Mandel is, ■ think, the main one for infectious  
18 diseases, There's Hoeprich which is very good. I think  
19 there's Douglas, which has more pictures. We have Barrow,  
20 which is the clinical microbiology. For myself, Barrow for  
21 clinical microbiology and Mandel for infectious diseases, are  
22 the two big ones that ■ use.

23 Q Is Klebsiella oxytoca susceptible to sterilization by  
24 ethylene oxide?

25 A If all procedures are followed correctly, yes.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

52

1 Q Okay. Do you have the understanding that the  
2 surgical site was shaved -- you don't know if the whole head  
3 was shaved, at least, but the areas surrounding the burr holes  
4 were shaved; is that your understanding?

5 A I think they shaved.

6 Q Do you know whether a presurgical antiseptic was  
7 used?

8 A It appears they did a Betadine prep.

9 Q And was that appropriate?

10 A The use of a Betadine prep is appropriate.

11 Q we began our discussion of Mrs. Zimmerman's infection  
12 by talking about three possible pathogenic sources for the  
13 infection. And you went on to tell me what you thought was  
14 most probable. Do you agree that it is at least possible that  
15 her infection could have resulted from bacteremia?

16 A No.

17 Q You don't believe that's even possible?

18 A NO.

19 Q And do you believe that it is even possible that her  
20 infection could have resulted from a wound infection?

21 A No.

22 Q Those are impossible scenarios, in your opinion?

23 A The clinical record does not support those in one  
24 instance. They're, in my opinion, not tenable.

25 Q can operative infections occur despite careful use of

1 sterile technique?

2 A In many procedures, yes. This procedure, stereo  
3 tactic surgery, generally is usually an incredibly low rate of  
4 infection.

5 Q Well, the fact that the surgery is stereo tactic  
6 doesn't change the fact that it's invasive, does it?

7 A It's invasive.

8 Q The stereo tactic aspect of the surgery has to do  
9 with the location and direction of the incisions and  
10 insertions and the localization of the procedure --

11 A correct.

12 Q — is that correct? The fact that there's stereo  
13 tactic navigation involved in the surgery, does not in and of  
14 itself affect whether or not there can be infection, does it?

15 A No, the issue for infection is going to be the  
16 placement and entry of the probe.

17 Q okay. Can operative infection in stereo tactic  
18 neurosurgery occur despite careful use of sterile technique?

19 A Not due to *Klebsiella oxytoca*.

20 Q Can operative infections occur in stereo tactic  
21 neurosurgery with other organisms despite careful use of  
22 sterile technique?

23 A Yes.

24 Q Tell me what's special about *Klebsiella oxytoca*.

25 A *Klebsiella oxytoca* is not an endogenous flora of the



1 skin. Various surgeries have various accepted levels of  
 2 infection. And if you are within that statistical range after  
 3 X hundreds of cases and such, you're going to have an  
 4 acceptable rate and history for your procedure. But the  
 5 anticipated organisms are going to be a coagulase-negative  
 6 staphylococcus. A staphylococcus aureus, perhaps a strep  
 7 viridans, diptheroids, endogenous flora that are deeper and  
 8 have greater attachment, lichens and such, to the skin than  
 9 what other organisms do, that can burrow and hide within the  
 10 sebaceous gland and burrow down to the follicle of the hair.  
 11 Klebsiella is not one of those.

12 Klebsiella, if it is on your skin, which it can be on  
 13 the skin, is going to be sort of like light dusting. It's a  
 14 transient. It doesn't want to be there. It actually -- the  
 15 skin's a hostile environment. Klebsiella doesn't do well  
 16 there. It does well in sort of moister areas, which is why we  
 17 can colonize anywhere in our body with it, because underarms,  
 18 groin areas, it can be anywhere. But when prepped it should  
 19 readily be removed as are other nonresident flora. Non endog  
 20 -- true endogenous flora of the skin. The presence of  
 21 Klebsiella oxytoca, it should not be there. And to be there  
 22 is a breach.

23 Q How many brain abscesses have you treated over your  
 24 career?

25 A Over 100, under 200.

1 Q How many brain abscesses have you treated in your  
 2 career that were secondary to a surgical procedure?

3 A over, probably over 30, under 100.

4 Q Of those that were secondary to a surgical procedure,  
5 how many were caused by negligence or a breach in the standard  
6 of care?

7 MR. RUF: objection.

8 A I can't state the exact number. I can recall a  
9 couple that I -- that was a concern of mine.

10 Q when you say you can recall a couple, that indicates  
11 to me a relatively small number, a single digit number?

12 A Yes.

13 Q Probably less than 10?

14 A Probably.

15 Q would it be fair to state that in most cases in which  
16 a brain abscess occurs secondary to a neurosurgical procedure,  
17 that abscess is not the result of negligence or a breach in  
18 standard of care?

19 MR. RUF: objection. That depends on the  
20 organism, based on his testimony.

21 Q Is my statement correct?

22 A I can't answer that yes or no, because it depends on  
23 what the surgery was.

24 Q Of those that you indicated you were concerned they  
25 were due to a breach in standard of care, how did you make

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

56

1 that determination?

2 A By unanticipated organism. And I was not seeing them  
3 for those issues, I was seeing them in consultation. These  
4 are ones that I was concerned about standard of care. unusual  
5 organism, unanticipated organism. And a surgery that  
6 generally it was nontrauma.

7           A lot of these that ■ saw were trauma, post surgical  
8   trauma where your risk of infection is significantly higher.  
9   So these were the clean neurosurgical surgeries where  
10   infection is very low. It was not back surgery. we're  
11   talking purely brain and is unexpected. So -- and then with  
12   that, we had an organism.

13           For instance, if this had been purely staph aureus,  
14   we may not be here talking, because even though this procedure  
15   should have a very low rate of infection, if it were one  
16   organism that was purely an endogenous skin flora, that is an  
17   acceptable risk. But that Klebsiella oxytoca is unacceptable.  
18   And that's the issue. The presence of that indicates to me,  
19   without doubt, a break in the standard of care the sterility  
20   of the products somewhere.

21           Q   s■ a Klebsiella oxytoca, is that a gram-negative  
22   organism?

23           A   Yes.

24           Q   Is it unusual to have a gram-negative organism  
25   associated with brain abscess?

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

57

1           A   No, not necessarily. Again it depends on the source.  
2   If you have an abscess from prostate disease in men, you may  
3   very well have a gram-negative. If you have, from a lung  
4   abscess, you may have a mixed anaerobic/aerobic, but you may  
5   well have a Klebsiella and e-coli or such.

6           Q   when surgical instruments are sterilized, we use the  
7   word sterilized, does the sterilization procedure leave them  
8   entirely without microorganisms, or is the goal to reduce to  
9   the extent possible the microorganisms that are on the

10 instrument?

11 A The intent is, **it** wouldn't be called a sterilizer **if**  
 12 they weren't sterile. So the intent is to render them  
 13 sterile. They can only be rendered sterile **if** the process is  
 14 allowed to act on each instrument properly. I.e., **if** the  
 15 sheath were left on, you're not going to get the proper  
 16 humidity because of the sheath around the probe, you're not  
 17 probably going to get penetrance of the side, or **if** the debris  
 18 is not taken off, the cleaning pre-sterilization is critical.  
 19 And you could have an error in those and your marker that  
 20 would show **it** went through properly would still very well show  
 21 **it** went through properly.

22 Q I guess what I'm getting at is whether or not  
 23 sterility is something that is generally achieved, or whether  
 24 when we talk about sterilizing instruments, sterilizing the  
 25 surgical field, prepping the patient, whether what we're

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

58

1 really doing is reducing the microorganisms as much as we can?

2 A when we prep the surgical field, we don't use the  
 3 term, we're sterilizing **it**. we are prepping **it** to maximally  
 4 reduce load. The maximal reduction of load we're really  
 5 talking about are those native flora. Those transient flora  
 6 we should remove. when we're talking sterility, it's like  
 7 you're -- a girl's pregnant. If I'm sterile, it's not going  
 8 to be, no matter what. Sterile is an absolute. when we  
 9 sterilize instruments, they are sterile.

10 Q so **if** I'm understanding you correctly, the  
 11 instruments should be sterile, the surgical field is something  
 12 where we're trying to reduce the microorganisms, to reduce the

13 loading of the microorganisms?

14 A Dramatically, but we don't say we produced a sterile  
15 field.

16 Q okay. In the report that you and Dr. Martinelli  
17 prepared, you make note of some postoperative findings that  
18 Mrs. Zimmerman was bradykinetic with decreased verbalization.  
19 Do you have an opinion as to whether or not that finding was  
20 infection related?

21 A No, we're more just describing what she was like post  
22 op and in terms of this or that symptom secondary to what.

23 Q Your report also describes an EEG finding showed  
24 epilepsy from the right frontal lobe in the left temporal  
25 region. were those findings infection related?

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

59

1 A No, I don't believe so.

2 Q okay. And your report also mentions EEG findings of  
3 encephalopathy, was that infection related?

4 A No.

5 Q can you tell me why there was a singular brain  
6 abscess as opposed to an infection of each of the locations of  
7 surgery?

8 A one — and I don't have an absolute. In fact, I've  
9 asked that question of myself. one, if the probe were  
10 inoculated after having already done one, two, or three of  
11 them, that could be one explanation. The other could be the  
12 probe was contaminated, this may have even been the first, and  
13 it may have in essence done its harm and she got lucky on the  
14 other three. It's hard to say.

15 I don't have a good answer for that, except in this

16 area where the infection occurred, there is evidence of what  
17 is termed -- they do a great number of scans on this lady post  
18 surgery, and I've never seen this number of scans done. The  
19 surgical note everything seems to have gone well, and yet, the  
20 lady does not do well postop. And the doctors are ordering  
21 enormous number of scans, and there appears to be evidence of  
22 hemorrhage.

23 If you have an inoculant bacteria, and you inoculate  
24 it into an area that has some hemorrhage, that is just like  
25 inoculating a blood broth that we do in the laboratory, to

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

60

1 enhance the growth of bacteria. This area may be where the  
2 abscess formed because this is where there was hemorrhage.

3 Q so the area of hemorrhage may be a particular  
4 suitable site for the development of the Klebsiella to cause?

5 A Right, just in the sense that you're almost -- it's  
6 like inoculating the plate. Blood is a wonderful media for  
7 bacteria to grow in.

8 Q when this kind of surgery is done and an area is  
9 ablated by radio frequency, does that produce necrosis?

10 A I'm going to stay away from answering that at all,  
11 because --

12 Q Defer to the neurosurgeons?

13 A Yeah.

14 Q That's fine. In Mrs. Zimmerman, there was positive  
15 urine culture for Klebsiella pneumoniae?

16 A correct.

17 Q Is that of any significance?

18 A Totally unrelated.

KERR.V1

19 Q Can the risk of infection be eliminated from any  
20 invasive surgical procedure?

21 MR. RUF: objection. For any organism?

22 Q My question, if I can ask my questions, please, is:  
23 can the risk of infection be eliminated in any invasive  
24 surgical procedure?

25 A To the known endogenous skin flora, even where there

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

61

1 is minuscule risk, there remains minuscule risk. certain  
2 organisms, as I've said in this case, Klebsiella oxytoca is  
3 not acceptable. That risk should have been eliminated.

4 Q Have you ever met Mrs. Zimmerman?

5 A No.

6 Q Have you met anyone in her family?

7 A No.

8 Q I take it then that you haven't examined her in any  
9 way, shape, or form?

10 A NO.

11 Q Do you have any plans to examine her before trial?

12 A NO.

13 Q I understand your opinions with respect to  
14 Mrs. Zimmerman particularly, but let me ask a few general  
15 questions.

16 can a patient's urine be a source for organisms that  
17 cause bacteremia?

18 A The urine may be the source, but it would require a  
19 certain type of infection with urine.

20 Q what do you mean by that?

21 A well, a cystitis, a routine cystitis in a woman, is

22 not going to cause bacteremia. Hemorrhagic cystitis, which is  
23 just bladder inflammation, but dramatic due to a cis-platinum  
24 or radiation therapy, could cause bacteremia in the face of a  
25 urinary tract infection. So the answer is yes, but not just a

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

62

1 routine UTI.

2 Q Generally speaking, can a patient's intestines be a  
3 source of organisms causing a bacteremia?

4 A As we walk about from day to day perfectly healthy,  
5 very rarely, but one can have periodically a potential for a  
6 transient bacteremia that immunologically would just clear,  
7 but incredibly rare.

8 Q Was there ever a culture done of Mrs. Zimmerman's  
9 abscess material?

10 A No, not in this hospitalization. Later I believe  
11 there was and that culture was negative.

12 Q Okay. without a culture, do we definitively know  
13 that the abscess organisms were staph aureus and Klebsiella  
14 oxytoca?

15 A Yes.

16 Q How do we know that?

17 A Put it this way, if a student of mine were to argue  
18 that question, I would fail them. It's a matter that you have  
19 a patient who by all clinical and physiological factors has a  
20 brain abscess. she is bacteremic, the same two organisms that  
21 are in the blood, same susceptibility are in the wound. she  
22 responds to therapy in a manner with purely medical therapy  
23 that is not inconsistent with the diagnosis of brain abscess.  
24 So everything says she has a brain abscess. She has a brain



25 abscess, period. And I won't -- that is absolute. To say

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

63

1 otherwise is looking for zebras.

2 Q Do you recall which incision sites were noted to have  
3 pus or discharge?

4 A I would have to go back, because we've got four sites  
5 theoretically, and go back. Sometimes they don't state  
6 exactly, they'll say left of right, they won't say anterior or  
7 posterior. I would have to go back and look at each, except  
8 on the fourth, it was from the left, and it was the fifth that  
9 the right started to drain, I actually believe it was the  
10 family that told the nurses first it was draining. And then  
11 the nurses note of it.

12 Q And what you do specifically recall raises this next  
13 question. can you explain why there would be discharge from  
14 an incision site different side of the head than the abscess?

15 A Interesting question. And again, there's got to be a  
16 connection somewhere. But you won't see on your scans and  
17 such tracks. So I don't have an answer for that.

18 Q when you say there must be a connection, you're  
19 saying there must be a physical way for the fluid to  
20 communicate --

21 A There has to be, and, you know, you've got four  
22 probes coming down, I think because of her postop status  
23 mentally and such how she was, but I've -- leave that out.

24 Q I'm sorry. I'm lost.

25 A I just, I don't know the answer to your question,

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

1     okay. I wonder if the answer lies maybe in something within  
2     the OR, but the OR notes don't reveal any problems, but I've  
3     never seen a patient get so many scans after surgery.

4           Q     But, ultimately, you don't know why there's discharge  
5     at a side other than the side of the --

6           A     No, there has to be communication,

7           Q     In connection with your expert witness work, have you  
8     ever advertised?

9           A     Yes.

10          Q     Do you still?

11          A     No.

12          Q     when did you last advertise?

13          A     I last paid for advertising October of 2001.

14          Q     And where did you advertise?

15          A     Trial Magazine.

16          Q     Anywhere else?

17          A     I believe just Trial.

18          Q     Trial Magazine is the magazine of the Association of  
19     Trial Lawyers of America; is that correct?

20          A     I'm not sure. That's the one I was told to advertise  
21     in.

22          Q     who suggested that you advertise in Trial Magazine?

23          A     I actually phoned a lawyer that I had done defense  
24     work with.

25          Q     okay. Do you list with any services that provide

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

1     expert reviews?

2 A No.

3 Q Have you ever?

4 A Have ■ ever?

5 Q Yes.

6 A No.

7 Q You have pretty extensive medical records and I only  
8 glanced through them before we started the deposition. I  
9 didn't study them in detail, but it appeared to me as though  
10 the medical records you have are those of the Cleveland  
11 clinic?

12 A Yes.

13 Q Do you have what appears to you to be a complete set  
14 of records from the Cleveland clinic, in other words, as you  
15 looked through those records, did you say, geez, we don't seem  
16 to have nurses notes, or we don't seem to have these kinds of  
17 reports or those kinds of --

18 A No, on the body of this admission, I'm comfortable.  
19 There's nothing I did not have except the live films. I had  
20 to rely on the reports.

21 Q And there are pages that are tabbed. who did that  
22 tabbing?

23 A All this, that's all Dr. Martinelli. It makes him  
24 sleep well at night.

25 Q Have you reviewed those tabs to see how they

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

66

1 correlate with the record or what it is that they are  
2 particularly marking?

3 A No, it drives me nuts.

4 Q Do you have records from any other health care

5 providers other than the Cleveland clinic? As ■ looked  
6 through there, I didn't see Menninger records and ■ didn't  
7 see --

8 A If there are, they were not reviewed. ■ know there  
9 was some rehab in here, which I'm not sure if that's Cleveland  
10 clinic.

11 Q Did you review the rehab records?

12 A very briefly. ■ mean, once the infectious disease  
13 side was over --

14 Q was there anything from the rehab records that would  
15 have bearing upon your opinions as to the standard of care  
16 issues and infection issues that you addressed?

17 A No.

18 Q Your box of file materials contains a number of  
19 depositions. Did you review all of the depositions?

20 A Yes.

21 Q when I'm done asking you questions, I'll read through  
22 the depositions that are in the file and ■'ll read through the  
23 other materials that are in the file, understanding that  
24 you're telling me you have read all of those depositions?

25 A Yes.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

67

1 Q There is a binder that is dated September 11th, 2000,  
2 it says Bob Linton. If we could find that binder?

3 MR. RUF: Is that it there?

4 MR. PARKER: That's not it.

5 MR. RUF: They're all marked the same date.

6 THE WITNESS: That has to be the date was sent  
7 to me, I'm sure. This is probably it.

8 Q We're looking at a binder dated 9/11/2000 Robert  
9 Linton volume 3 of 4, and I want to point you to a subdivision  
10 six, there's a chronology at subdivision six. Do you know who  
11 prepared that?

12 A I'm not sure who prepared this. It was not prepared  
13 by myself.

14 Q Do you know if it was prepared by Dr. Martinelli?

15 A It's something that he would love to do, but I don't  
16 believe so. I believe this was part of what was sent to us.  
17 My assumption it was a paramedical of the law firm.

18 Q Did your -- do your opinions, are they based in part  
19 on that chronology?

20 A Well, I hate to say this in front of Mr. Ruf, but I  
21 don't read these chronologies until I've reviewed the record.

22 Q okay. But I guess my question still stands though,  
23 is your opinion based upon that chronology in whole or in  
24 part?

25 A No, it's based entirely upon my personal opinion and

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

68

1 review of the record.

2 Q showing you what has been marked as Exhibit Two, can  
3 you tell me what that is?

4 A That's my curriculum vitae.

5 Q Can you tell me if it's current?

6 A Actually it appears quite current.

7 Q Are there any corrections that need to be made to it?

8 A I don't think so. We had already taken complete  
9 Practice Solutions off this. I must have updated this.

10 Q under certifications, one of the organizations that

11 you list is American college of Forensic Examiners. what is  
12 that?

13 A That is a college, one of many other medical colleges  
14 have one conference -- there's several conferences a year,  
15 there's one conference that's really very good that I enjoy,  
16 but it is not a board like internal medicine infectious  
17 diseases. It's a board that you're awarded because of  
18 publications and a point system on things that you have done.  
19 So you're a member of that board, but it's not a board that  
20 you've been examined for.

21 Q All right. And the American Board of Forensic  
22 Medicine, what is that?

23 A That's what we were just talking about.

24 Q oh, I asked before about the American college of  
25 Forensic Examiners; are they related?

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

□

69

1 A oh, yes. Yes, they're related.

2 Q And they are not board certification in the classic  
3 sense of something for which you have to hold very strict  
4 board qualifications and then undergo a board examination?

5 A No. The only reason you do the exam -- several do  
6 the exam, but they only do the exam because they did not  
7 qualify by other things they have done, which was board  
8 certifications in at least two other areas, X publications,  
9 teaching hours, things like that.

10 Q Do you hold yourself out as an expert in forensic  
11 medicine?

12 A NO.

13 Q And do you claim a certification, board certification

14 in forensic medicine?

15 A only ■ always qualify *it* in the sense that you asked  
16 about *it*. I never allow *it* to be implied, it's something ■  
17 took three years of fellowship training for.

18 Q You list as a present appointment, director of  
19 employee health at Covenant Medical center; is that accurate?

20 A ■ thought those were all knocked out now. Because  
21 all of that is finished as of April 2001.

22 Q okay.

23 A oh, ■ assumed that was knocked out when ■ saw CPS was  
24 off here. No.

25 Q so you are no longer director of employee health at

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

70

1 Covenant Medical center?

2 A No. still have privileges and such there, but that  
3 is what I retired from April 2001.

4 Q okay. There's a listing of an assistant  
5 professorship with Texas Tech university Medical Center, is  
6 that current?

7 A That is current. Current does not mean I'm actively  
8 doing *it* right now.

9 Q Right. when did you last engage in any duties as an  
10 assistant professor? when did you last teach?

11 A Bioterrorism last year.

12 Q I'm sorry?

13 A Bioterrorism last year. Lecturing.

14 MR. PARKER: off the record.

15 (off-the-record discussion.)

16 Q You characterized me earlier in this deposition as

KERR.V1

17 thick headed and it's showing now.

18 A I was talking about hair.

19 Q I really was not understanding your answer. You're  
20 telling me, I think, that you lectured on bioterrorism?

21 A Right.

22 Q On one occasion last year?

23 A Actually lectured over the last several years on  
24 bioterrorism a great deal.

25 Q okay. Are you currently engaged in any teaching

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

71

1 activities?

2 A Yes, in the sense that again we're getting back to  
3 what I just sort of put myself back open for, the outside  
4 consultation and the san Angelo contract will involve  
5 significant amount of teaching.

6 Q okay. will you be teaching medical students through  
7 an accredited medical school program?

8 A Not at San Angelo. They do not have medical  
9 students,

10 Q Also on appointments, you've listed chief of hospital  
11 epidemiology and infection control at covenant Health System?

12 A No, those --

13 Q That --

14 A -- outside of privileges at covenant, April 2001 is  
15 the end of that.

16 Q okay. And that's true as well with the director of  
17 infection control at covenant?

18 A Right.

19 MR. PARKER: Doctor, I thank you for answering



KERR.V1

20 my questions. I am going to go through on the record some of  
21 the materials that are in your file, and you're welcome to be  
22 present for that.

23 MR. RUF: Before we go off the record, I want to  
24 clear something up. I don't think it's clear on the record,  
25 and since we're approaching trial, I want to clear this up.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

72

1 EXAMINATION

2 BY MR. RUF:

3 Q Doctor, I just have a few questions for you about  
4 your work at San Angelo community Hospital, I think you  
5 testified that you have reached an agreement with that  
6 hospital; is that correct?

7 A They have -- we have not signed contracts yet, but  
8 they have asked me -- when I left, they asked if I would take  
9 a contract and come back on a permanent basis in terms of  
10 infection control and -- I'm blocking on the word for -- it's  
11 the quality assurance side, and review and continue to review  
12 that for them over the long term.

13 Q Is that something --

14 MR. PARKER: objection. And move to strike.

15 Q Is that something you have accepted, or are going to  
16 accept?

17 A I will accept that.

18 Q And does that work involve the active clinical  
19 practice in medicine?

20 A As far as I'm concerned, yes.

21 Q why does it involve the active clinical practice in  
22 medicine?

23 A Because you're reviewing the patient care records of,  
24 you're making critical assessment of that care in terms of the  
25 privileging for the physicians doing it.

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

73

1 Q So are you going to be engaging at least 50 percent  
2 of your time in the active clinical practice of medicine based  
3 upon the work you're going to be doing for San Angelo  
4 community Hospital?

5 MR. PARKER: objection.

6 A That and now that I'm going to get back into more of  
7 the clinical side, yes, it will be more than 50 percent.

8 Q Why are you once again becoming involved in the  
9 active clinical practice of medicine?

10 A It's my first love. The big thing is my health is  
11 allowing me to by cutting back on so much else.

12 Q Now in the past, have you solely cut back on your  
13 involvement in clinical practice because of health reasons?

14 A Yes.

15 Q And as long as your health permits you, are you going  
16 to be engaged in the active clinical practice of medicine?

17 A I hope to be, yes.

18 MR. RUF: Thank you, Dr. Kerr.

19 Time 11:26.

20 MR. PARKER: Just a few questions.

21 EXAMINATION

22 BY MR. PARKER:

23 Q You told us just a moment ago that at San Angelo, to  
24 your way of viewing things, you'll be engaged in an active  
25 clinical practice. Let me ask a few follow-up questions.

1 will you -- do you know if you will be doing hands-on  
2 examination or treatment of patients?

3 A Interesting question, because they have asked if I  
4 would do consultation over the phone, or when I'm down there,  
5 on patients. And I told them I will not do that any longer  
6 because I cannot be there on a daily basis. It's a liability  
7 I'm not willing to take. But I have agreed that I will go  
8 down on a regular basis and we will hold rounds where they can  
9 discuss patients with me. or we may do rounds going around  
10 the ward. But I specifically will not take the responsibility  
11 for the direct patient orders.

12 Q Okay. So you won't be writing orders?

13 A NO.

14 Q Will you be performing patient examinations?

15 A I may be.

16 Q I'm sorry. I'm having trouble with "I may be". Do  
17 you know if you will or will not?

18 A They may request an opinion from me on an  
19 examination,

20 Q Will you be reviewing the record of the exam, or will  
21 you be performing the exam, or do you know?

22 A Most certainly I will be reviewing the records. If  
23 they request my opinion, I would do the exam.

24 Q okay. As you testify here today, are you on any  
25 medications?

1           A     Yes.  
2           Q     okay. can you tell me what those are?  
3           A     There you go (indicating).  
4           Q     what are they?  
5           A     I am on Sinemet CR; Compton, Requip, Sinemet plain,  
6     aspirin, Aciphex. I think that's enough.  
7           Q     Do any of those have any impact upon your ability to  
8     comprehend my questions or to formulate responses to them or  
9     do they affect your cognition or understanding in any way?  
10          A     NO.  
11               MR. PARKER: Okay. I'll be going through your  
12     records, but I think I'm done with questions for you.  
13               THE WITNESS: Appreciate it. Thank you  
14               MR. RUF: Doctor, do you want to read this  
15     transcript?  
16               THE WITNESS: Absolutely.  
17               (Off-the-record discussion.)  
18               MR. PARKER: I'm just reading into the record  
19     materials that are in the file produced by Dr. Kerr:  
20     Deposition transcript of Martin McHenry, M.D.; deposition  
21     transcript of Mary Eleanor Reilly; deposition transcript of  
22     Richard schule; deposition transcript of Gene Barnett, M.D.;  
23     deposition transcript of Susan Rehm, M.D.; deposition  
24     transcript of Stephen M. Gordon, M.D.; deposition transcript  
25     of Robin Avery, M.D. ; medical records of Trinity Lutheran

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

76

1     Hospital; medical records of Overland Park Regional Medical  
2     center; medical records of the Rehabilitation Institute;  
3     medical records of Shawnee Mission Medical Center; medical

4 records of Martin Buckman, M.D.; medical records of Neurology  
5 Consultants; charter Neurology Consultants; medical records of  
6 the Cleveland Clinic Foundation in five loose-leaf volumes.  
7 The way those volumes are bound they include interrogatories  
8 and a request for production of documents to the Cleveland  
9 Clinic, and the responses thereto, which includes various  
10 pages of policies and procedure manuals relative to  
11 sterilization procedures. The deposition of Penny Sonters,  
12 R.N.; the expert report of Phillip Gildenberg; the expert  
13 report of Keith Armitage, M.D.; the expert report of William  
14 Rutala, Ph.D.; the curriculum vitae of Dr. Rutala; the report  
15 of Mark Poznansky, M.D.; and Dr. Poznansky's curriculum vitae;  
16 the deposition of Dr. Poznansky; the deposition of Kristen  
17 Minnick; the deposition of Kathleen Zobec, R.N.; the  
18 deposition of Mary Bertin, R.N.; the deposition of Georges  
19 Markarian, M.D.; the deposition of Michael Ryan, M.D.; the  
20 deposition of Sherman Zimmerman, the deposition of Margaret  
21 Zimmerman-Rabe; the deposition of Janet Serkey.

22 And that concludes the contents of the file.

23 (Deposition concluded; time 11:43).

24 (signature by the witness required.)

25

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

77

1 CHANGES AND SIGNATURE PAGE  
2 ORAL DEPOSITION OF DR. CLARK KERR  
MAY 3, 2002

3 Page: Line: change: Reason:

4

5

6

7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I, DR. CLARK KERR, have read the foregoing deposition and hereby affix my signature that same is true and correct, except as noted above.

DR. CLARK KERR

THE STATE OF TEXAS )  
COUNTY OF LUBBOCK )

Before me, , on this day personally appeared DR. CLARK KERR, known to me (or proved to me under oath or through an identifying document) to be the person whose name is subscribed to the foregoing instrument and acknowledged to me that they executed the same.

Given under my hand and seal of office this the day of , 2002.

Notary public in and for the state of Texas

My Commission Expires:

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

78

1  
2  
3  
4  
5  
6  
7  
8  
9

CUYAHOGA COUNTY COMMON PLEAS  
CASE NO. 399411

MARY LOU ZIMMERMAN, ET AL

VS ,

THE CLEVELAND CLINIC FOUNDATION

REPORTER'S CERTIFICATION  
DEPOSITION OF DR. CLARK KERR  
MAY 3, 2002

■ Linda York, Texas certified court Reporter, hereby certify to the following:

10 That the deposition transcript is a true record of the  
11 testimony given by the witness, after said witness was duly  
sworn by me;  
12 That the deposition transcript was submitted on \_\_\_\_\_, 2002,  
13 to the witness or to the attorney for the witness for  
examination, signature and return to me within 20 days from  
14 today's date;  
15 That the amount of time used by each party at the deposition  
is:  
16 MR. PARKER: Two Hours, 30 Minutes  
MR. RUF: Two Minutes  
17 That appearances of all parties of record are as follows:  
18 For the Plaintiff: MR. ROBERT LINTON  
(via telephone)  
19 - and -  
MR. MARK RUF  
20 Linton & Hirshman  
700 W. St. Clair Avenue  
21 Cleveland, OH 44113  
216.687.1999  
22 fax 216.771.5803  
23 For the Defendant: MR. ALAN PARKER  
Reminger & Reminger  
24 The 113 St. Clair Building  
Cleveland, OH 44114  
25 216.687.1311  
fax 216.687.1841

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036

79

1 That I am neither attorney nor counsel for, related to, nor  
2 employed by any of the parties or attorneys of record in this  
cause, nor do I have a financial interest in the action.  
3 certified to by me on this the 6th day of May, 2002.

4  
5 Linda York  
6 Texas certified Court Reporter  
Certificate Number 4899  
7 Expiration Date: Dec. 31, 2003  
P. O. Box 86  
8 Lubbock, Texas 79401  
806.763.0036

9 FURTHER RULE 203 TRCP CERTIFICATION  
10 ( ) The above-described deposition was not returned to the  
11 offices of Cathy sosebee & Associates, and I have  
notified all parties reflected in this certificate.

KERR.V1

13 ( ) The above-described deposition was returned to the  
14 offices of Cathy sosebee & Associates on  
and delivered to Mr, Parker, Custodial Attorney.  
15 charges for the preparation of the deposition transcript and  
any copies of exhibits are:  
16 Amount:  
17 chargeable to: Mr. Parker  
18 This certificate will be filed with the clerk of the court and  
19 served on all parties of record shown herein.  
20 certified to by me on this the \_\_\_\_ day of \_\_\_\_\_,  
21 2002.

22  
23 Linda York  
Texas certified court Reporter  
Certificate Number 4899  
24 Expiration Date: Dec. 31, 2003  
P. O. Box 86  
25 Lubbock, Texas 79408  
806.763.0036

CATHY SOSEBEE & ASSOCIATES \* LUBBOCK, TEXAS \* 806.763.0036