

1 The State of Ohio, )  
 2 County of Ashtabula. ) SS:  
 3 IN THE COURT OF COMMON PLEAS  
 4 Sara McKee, a Minor, )  
 5 et al., )  
 6 Plaintiffs, )Case No.  
 7 -vs- )2001CV129  
 8 Ashtabula County Medical )  
 9 Center, et al., )  
 10 Defendants. )  
 11 --- o0o ---  
 12 Videotaped deposition of GEORGE  
 13 KINGSLEY, D.O., a Defendant herein, called  
 14 by the Plaintiffs as if upon  
 15 cross-examination under the statute, and  
 16 taken before Luanne Stone, a Notary Public  
 17 within and for the State of Ohio, pursuant  
 18 to the agreement of counsel, and pursuant to  
 19 the further stipulations of counsel herein  
 20 contained, on Thursday, the 13th day of  
 21 June, 2002 at 11:00 o'clock A.M. at the  
 22 offices of Joseph Farchione, Esq., Erieview  
 23 Towers, the City of Cleveland, the County of  
 24 Cuyahoga and the State of Ohio.  
 25

1 APPEARANCES:  
 2 On behalf of the Plaintiffs:  
 3 Becker & Mishkind, by:  
 4 Michael Becker, Esq.  
 5  
 6 On behalf of the Defendants,  
 7 George Kingsley, D.O. and  
 8 Ashtabula Clinic:  
 9 Sutter, O'Connell, Mannion &  
 10 Farchione, by:  
 11 Joseph Farchione, Esq.  
 12  
 13 On behalf of the Defendant,  
 14 Ashtabula County Medical Center:  
 15 Bonezzi, Switzer, Murphy & Polito,  
 16 by:  
 17 Donald Switzer, Esq.  
 18  
 19 ALSO PRESENT:  
 20 Mr. & Mrs. McKee  
 21  
 22 --- o0o ---  
 23  
 24  
 25

1 INDEX OF OBJECTIONS  
 2 PAGE LINE  
 3 BY MR. FARCHIONE:  
 4 31 24  
 5 32 23  
 6 39 15  
 7 45 12  
 8 45 24  
 9 50 22  
 10 64 21  
 11 73 15  
 12 77 14  
 13 83 12  
 14 89 6  
 15 BY MR. SWITZER:  
 16 89 7  
 17 BY MR. FARCHIONE:  
 18 91 8  
 19 92 5  
 20  
 21  
 22  
 23  
 24  
 25

1 PROCEEDINGS  
 2 GEORGE KINGSLEY, D.O., being of lawful  
 3 age, having been first duly sworn according  
 4 to law, deposes and says as follows:  
 5 CROSS-EXAMINATION OF GEORGE KINGSLEY, D.O.  
 6 BY MR. BECKER:  
 7 Q Good morning, Doctor. Would you tell  
 8 us your full name, please?  
 9 A George M. Kingsley, III.  
 10 Q And what is your current business  
 11 address?  
 12 A 524 West 24th Street, Ashtabula, Ohio  
 13 44004.  
 14 Q Who is your current employer?  
 15 A The Ashtabula Clinic.  
 16 Q Is that also known as ACMC?  
 17 A It is a subsidiary of ACMC.  
 18 Q So, it's -- your employer is actually,  
 19 quote, "the Ashtabula Clinic"?  
 20 A Correct.  
 21 Q Company or --  
 22 A The Ashtabula Clinic is the name of the  
 23 corporation.  
 24 Q How long have you been so employed  
 25 there?

- 1 A Since November of 1996.  
 2 **Q All right. Let's work backwards a**  
 3 **little bit. You went to medical school**  
 4 **where?**  
 5 A University of North Texas, Texas  
 6 College of Osteopathic Medicine, Fort Worth,  
 7 Texas.  
 8 **Q And you graduated what year?**  
 9 A In 1990.  
 10 **Q Thereafter, you were engaged in an**  
 11 **internship as well as a residency?**  
 12 A Correct.  
 13 **Q Where was your OB/GYN residency at?**  
 14 A My categorical OB/GYN internship and  
 15 residency were at the William Beaumont Army  
 16 Medical Center in El Paso, Texas.  
 17 **Q Were you in the service at that time?**  
 18 A Yes, I was.  
 19 **Q In what capacity?**  
 20 A I was a commissioned officer.  
 21 **Q What year did you finish your residency?**  
 22 A 1994.  
 23 **Q Was it a three or four-year OB/GYN**  
 24 **residency?**  
 25 A It was internship plus three years of

- 1 residency.  
 2 **Q From 1994 until you joined the**  
 3 **Ashtabula Clinic in November of '96, how**  
 4 **were you employed?**  
 5 A I was still a commissioned officer in  
 6 the United States Army, stationed overseas  
 7 in Heidelberg, Germany at the 95th Combat  
 8 Support Hospital.  
 9 **Q Doing obstetrics?**  
 10 A Yes.  
 11 **Q And you did your service to the**  
 12 **country, and then you left and started**  
 13 **private practice in 1996?**  
 14 A That's correct.  
 15 **Q How did you happen to come to Ashtabula?**  
 16 A My -- I had served with my former  
 17 partner in Heidelberg, Dr. Paul Jones. He  
 18 and I were both originally from Ohio. He  
 19 had moved his family back to The States  
 20 prior to my leaving the service and had  
 21 engaged in negotiations with the Ashtabula  
 22 Clinic to start an OB group. He contacted  
 23 me to join -- to join that group.  
 24 **Q So, Dr. Jones, a colleague of yours,**  
 25 **had already been employed at the time you**

- 1 **joined Ashtabula Clinic?**  
 2 A He was not an employee yet but was in  
 3 negotiations to start this group practice.  
 4 **Q Okay, and to your knowledge, do you**  
 5 **know how it was that he went to Ashtabula**  
 6 **for his practice?**  
 7 A I believe that while he was stationed  
 8 at Fort Knox, Kentucky, he was doing locum  
 9 tenens work and found out through a  
 10 recruiter that the Ashtabula Clinic was  
 11 looking to start an OB program.  
 12 **Q Now, is it fair for me to conclude that**  
 13 **when you and Dr. Jones joined the Ashtabula**  
 14 **Clinic, you were the first obstetricians?**  
 15 A I was the first one there.  
 16 **Q Okay, and then Dr. Jones followed you**  
 17 **by a few months?**  
 18 A Followed me, yes, sir.  
 19 **Q I understand Dr. Jones has left the**  
 20 **Ashtabula Clinic.**  
 21 A That's correct.  
 22 **Q And when did he leave?**  
 23 A In July of '99.  
 24 **Q Why did he leave, to your knowledge?**  
 25 A He was discharged from the Ashtabula

- 1 Clinic for cause.  
 2 **Q Do you know where he currently is**  
 3 **practicing?**  
 4 A He's employed at Lake Obstetrics in  
 5 Painesville.  
 6 **Q Was there a formal hearing within the**  
 7 **hospital for his -- I'm not asking you what**  
 8 **happened. I just want to know if there was**  
 9 **--**  
 10 A I don't have any knowledge.  
 11 **Q That's it. Do you have any plans of**  
 12 **leaving the Ashtabula Clinic?**  
 13 A Yes, I do.  
 14 **Q When do you plan on leaving?**  
 15 A My termination date is June 16th.  
 16 **Q Of?**  
 17 A This year.  
 18 **Q This year?**  
 19 A (At this time the witness nodded his  
 20 head.)  
 21 **Q Within a few days, you're going to be**  
 22 **leaving?**  
 23 A Three days from now is my last day as  
 24 an employee.  
 25 **Q Okay. When did you give notice of your**

1 intent to leave?

2 A Ninety days ago.

3 **Q What was -- what's the reason you're**  
4 **leaving?**

5 MR. FARCHIONE: Well, we're not  
6 going to get into the reason why. He is  
7 going stay in the area, Mike, and open his  
8 own private practice, but I'm not going to  
9 get into his private business reasons for  
10 why he's opening his own practice.

11 It has nothing to do with this  
12 lawsuit, if you want to ask him that  
13 question, but --

14 BY MR. BECKER:

15 **Q Well, I mean, you can answer in a**  
16 **general form. Is it for business reasons**  
17 **that you're starting your own practice?**

18 A It's --

19 MR. FARCHIONE: It's not --

20 THE WITNESS: Everything is for  
21 business reasons. It's a general question  
22 which -- yes, for business reasons.

23 BY MR. BECKER:

24 **Q Okay. You're going to essentially be**  
25 **staying within the same city?**

1 A I don't know. I have -- I have no  
2 specific plans at this point in time.

3 **Q Your counsel has indicated that he's**  
4 **going to be -- you're going to be staying in**  
5 **the general area. So, is that true?**

6 A I am -- my -- my current intent is to  
7 establish a private practice in Ashtabula  
8 County. Whether I will be able to  
9 accomplish that goal is yet to be determined.

10 **Q Well, have you started a business plan**  
11 **as to where you're going to open your office**  
12 **and how you're going to obtain clients?**

13 MR. FARCHIONE: Now we're done  
14 with it, Mike. We're not going to get into  
15 his personal business.

16 BY MR. BECKER:

17 **Q Well, do you have any idea if you're**  
18 **going to be employed 60 days from now?**

19 A I anticipate that I will not be  
20 employed 60 days from now.

21 **Q Do you have an idea whether or not**  
22 **you're going to be -- do you anticipate**  
23 **being employed six months from now?**

24 A I would hope so, but I have no specific  
25 plans.

1 **Q This departure from Ashtabula -- when I**  
2 **say "ACMC," was it voluntary on your part?**

3 A Yes.

4 **Q You were not asked to leave?**

5 A No.

6 **Q Once you leave, what obstetricians will**  
7 **be remaining at ACMC?**

8 A There are --

9 MR. FARCHIONE: At the hospital  
10 or at the clinic?

11 THE WITNESS: There are several  
12 physicians that have privileges.

13 BY MR. BECKER:

14 **Q Okay, at the -- at the clinic.**

15 A At the Ashtabula Clinic?

16 **Q Yes.**

17 A My two existing colleagues, Dr. Murray  
18 and Dr. Slotta.

19 **Q Are they OBs, or are they family**  
20 **doctors that do obstetrics?**

21 A Only OBs do OB at ACMC.

22 **Q Okay.**

23 A So, they are OBs.

24 **Q So, there will be some obstetricians**  
25 **there after you leave?**

1 A (At this time the witness nodded his  
2 head.)

3 **Q You're going to have to answer**  
4 **verbally.**

5 A Yes, sir.

6 **Q I forgot my caveats here. This is a**  
7 **question-and-answer session under oath. It's**  
8 **important you understand the question that I**  
9 **ask.**

10 A Yes, sir.

11 **Q If you don't understand the question,**  
12 **you tell me so, and I will attempt to**  
13 **rephrase or restate the question, fair**  
14 **enough?**

15 A Yes, sir.

16 **Q However, unless you indicate otherwise**  
17 **to me, I'm going to assume that you fully**  
18 **understood the question that I've posed and**  
19 **that you're giving me your best and most**  
20 **complete answer today, fair enough?**

21 A I understand.

22 **Q One other caveat; it's important that**  
23 **you answer verbally and avoid head nods.**  
24 **Fair enough?**

25 A Correct.

1 **Q Is this the first time you've ever been**  
2 **deposed?**  
3 A Yes.  
4 **Q What have you reviewed in preparation**  
5 **for this deposition?**  
6 A The antepartum and intrapartum chart on  
7 the admission for Robin and the birth of  
8 baby Sara.  
9 **Q Did you bring with you your original**  
10 **chart?**  
11 A No.  
12 **Q Any reason why not?**  
13 A I don't have custody of the original  
14 chart.  
15 **Q Did you review anything else?**  
16 A No.  
17 **Q Did you do any research in preparation**  
18 **for this deposition?**  
19 A Not specific to this cause.  
20 **Q Do you have -- did you generate any**  
21 **notes as a result of this particular**  
22 **induction at any time that would not be**  
23 **included in either your prenatal chart or**  
24 **the ACMC records?**  
25 A No.

1 than free to look at the chart, either the  
2 prenatal chart or the hospital chart, before  
3 answering my questions.  
4 A Okay.  
5 **Q How was it that, to your knowledge,**  
6 **that Robin McKee came to you for obstetrical**  
7 **care?**  
8 A I believe she was referred by two of  
9 her friends that were patients of mine.  
10 **Q Is that reflected in the prenatal chart?**  
11 A It is.  
12 **Q All right. Who were the friends?**  
13 A I noted on the first page of the ACOG  
14 form that she was referred by Sandy Amsdell  
15 and the Kipharts.  
16 **Q When -- what -- what was the date of**  
17 **your first hands-on care?**  
18 A My first visit with Robin was on April  
19 2nd, 1999.  
20 THE VIDEOGRAPHER: Off the  
21 record.  
22 (At this time a short recess was  
23 had.)  
24 THE VIDEOGRAPHER: Back on the  
25 record.

1 **Q You are a general obstetrician?**  
2 A Yes.  
3 **Q You're Board certified?**  
4 A Yes.  
5 **Q When did you become Board certified?**  
6 A 1996.  
7 **Q So, as soon as you became eligible, you**  
8 **took the test, and you passed it?**  
9 A The first time.  
10 **Q Can you give me a sense as to how many**  
11 **babies you were delivering at the time that**  
12 **Sara McKee was born?**  
13 A I would say our average delivery at the  
14 Ashtabula Clinic was 25 deliveries per  
15 month.  
16 **Q You said "we." How about you**  
17 **personally? How many would you deliver?**  
18 A Well, since Dr. Jones left during the  
19 course of Robin's pregnancy, it switched  
20 from a "we" to a "me." So, Dr. Jones and I  
21 previously did about 25 deliveries a month.  
22 When he left, I began doing all the  
23 deliveries.  
24 **Q Doctor, I want you to know that at any**  
25 **time during this deposition, you're more**

1 BY MR. BECKER:  
2 **Q Doctor, I just want to make sure that**  
3 **we've covered everything you reviewed in --**  
4 **in this -- before this deposition. You did**  
5 **-- you did not engage in any research,**  
6 **correct?**  
7 A No.  
8 **Q You merely looked at the prenatal chart**  
9 **and the hospital chart, correct?**  
10 A Correct.  
11 **Q Okay. You did not look at any package**  
12 **inserts of the drug, Cytotec, correct?**  
13 A Not in relevance to this case.  
14 **Q Could I ask you: when was the last**  
15 **time you looked at a package insert of**  
16 **Cytotec?**  
17 A Probably in 2001.  
18 **Q I'm going to go through the prenatal**  
19 **chart, Doctor, and ask you a few questions.**  
20 **It says "G 2, P 0." Do you see that at the**  
21 **top?**  
22 A Correct.  
23 **Q G2, that's her second pregnancy?**  
24 A Second pregnancy.  
25 **Q Okay, and she has no living children?**

1 A No children.  
 2 **Q Okay. Under "occupation," could you**  
 3 **tell me what that says? I see it says**  
 4 **"KeyBank -- KeyBank."**  
 5 A It says "Outside work, KeyBank computer  
 6 programmer."  
 7 **Q Was there any question about her**  
 8 **dates when this pregnancy started?**  
 9 A At the first visit, we had inconclusive  
 10 dates. An ultrasound was performed at the  
 11 second visit that confirmed her EDC dating.  
 12 **Q And what was her EDC once that**  
 13 **ultrasound was completed?**  
 14 A November 13th, 1999.  
 15 **Q Under "Past Medical History," we have**  
 16 **"FOB." What does that mean?**  
 17 A Father of baby.  
 18 **Q Okay. So, does this mean that the**  
 19 **father of the baby and the grandfather have**  
 20 **diabetes?**  
 21 A No, it means that the paternal  
 22 grandfather, in this case paternal great  
 23 grandfather to be, has diabetes.  
 24 **Q And further down, number 12, "History**  
 25 **of blood transfusions," the father of the**

1 **Q Okay, and what does that mean,**  
 2 **"bicornate uterus"?**  
 3 A It's a Mullerian fusion defect where,  
 4 as the two halves of the uterus are formed  
 5 in the embryonic phase, the two halves don't  
 6 meet properly in the midline and create a  
 7 heart-shaped uterus.  
 8 **Q Does that create any risk factors?**  
 9 A It can.  
 10 **Q What type?**  
 11 A The greatest risk with a bicornate  
 12 uterus are for second trimester pregnancy  
 13 loss, preterm delivery, preterm labor,  
 14 malpresentation.  
 15 **Q And -- and did you counsel Robin McKee**  
 16 **on all those risk factors?**  
 17 A I noted at the bottom of the comments  
 18 that the risks were identified.  
 19 **Q And was that relayed to the patient?**  
 20 A That's my usual practice.  
 21 **Q I'm assuming you don't have a specific**  
 22 **recollection of that.**  
 23 A I don't have a specific recollection.  
 24 **Q All right. Let's look at the prenatal**  
 25 **chart. I don't have -- I'm not going to go**

1 **baby had transfusions as a newborn?**  
 2 A Correct.  
 3 **Q Did you make any inquiry as to what the**  
 4 **reason was?**  
 5 A No.  
 6 **Q On the right-hand side, talking about**  
 7 **breast cancer, do you see that?**  
 8 A Yes.  
 9 **Q And it looks like "GYN surgery."**  
 10 **That's for the patient, correct?**  
 11 A Correct.  
 12 **Q Any abnormalities in the initial labs?**  
 13 A No.  
 14 **Q Any abnormality in any of the labs**  
 15 **that appear on that lab sheet form?**  
 16 A No, all results were normative.  
 17 **Q Under "Problems and Plans," it says**  
 18 **"History of cervical dysplasia, height in**  
 19 **normal path."**  
 20 A Correct.  
 21 **Q Correct? Underneath that, what does it**  
 22 **say?**  
 23 A "Bicornate uterus, ultrasound 5/3," and  
 24 I can't read the date for the year. That  
 25 was Dr. Jones's handwriting.

1 **through it line-by-line. I just want to**  
 2 **know if there is -- just bring me to a date**  
 3 **when you find any abnormality.**  
 4 A No, all of her visits were scheduled  
 5 visits, no particular problems identified.  
 6 **Q Under "Comments," it says, "Does not**  
 7 **want to know." Is that the sex of the baby?**  
 8 A The sex of the baby.  
 9 **Q What do the numbers under "Comments,"**  
 10 **like 3 over 4, what does that mean?**  
 11 A The plus 3 over 4 means she gained only  
 12 three-quarters of a pound between those two  
 13 interval visits.  
 14 **Q So, this is weight gain?**  
 15 A Weight gain comments.  
 16 **Q Okay, and why are there some weight**  
 17 **gains noted and some aren't?**  
 18 A If there's a decreased amount or an  
 19 increased amount of expected weight gain,  
 20 sometimes the nurse will note it off to the  
 21 side just to bring our attention to it.  
 22 **Q Turning the page --**  
 23 A I don't have copies of that.  
 24 MR. BECKER: Joe, do you have  
 25 this?

1 MR. FARCHIONE: I don't have it  
2 with me. It's the ultrasound copy?  
3 MR. BECKER: Right.  
4 THE WITNESS: All I have is the  
5 ACOG face sheets for the prenatal record.  
6 BY MR. BECKER:  
7 **Q Let me take a look at what you have,**  
8 **your prenatal record.**  
9 MR. FARCHIONE: It's just the  
10 four sheets?  
11 THE WITNESS: It's just the four  
12 ACOG sheets.  
13 BY MR. BECKER:  
14 **Q I mean, you don't have your office**  
15 **notes?**  
16 A I don't.  
17 **Q You don't have a copy of it at hand?**  
18 A No.  
19 **Q Well, I'll tell you what I'm going to**  
20 **do, Doctor. I'm going to give you my chart,**  
21 **and what I'd like you to do is**  
22 **chronologically interpret your handwriting.**  
23 A Okay.  
24 **Q Tell me the date, and I may stop and**  
25 **ask you a question or two.**

1 A That's fair.  
2 **Q Okay.**  
3 MR. FARCHIONE: Starting with  
4 the ultrasounds, Mike?  
5 MR. BECKER: Right.  
6 MR. FARCHIONE: Is that your  
7 handwriting?  
8 THE WITNESS: Yes. The first  
9 entry is April 2nd. It's just a little  
10 summary note. The --  
11 MR. FARCHIONE: He just wants you  
12 to read it.  
13 BY MR. BECKER:  
14 **Q Verbatim.**  
15 A Verbatim?  
16 **Q Yes.**  
17 A "No risks, ultrasound questionable,  
18 ten-day change of EDC? Repeat ultrasound  
19 four weeks."  
20 **Q All right. Next entry?**  
21 A Next entry is Dr. Jones's on --  
22 actually it's a predating note from Dr.  
23 Jones dated March 9th.  
24 **Q Is that typed?**  
25 A Yes.

1 **Q Why are yours handwritten and his typed?**  
2 A At that moment in time, Dr. Jones was  
3 dictating his antepartum. Depending on what  
4 the reason was, most OB notes are  
5 handwritten and GYN notes are dictated just  
6 for ease of practice. I think most of the  
7 remainder of his notes will be handwritten,  
8 but if a patient presents, and it's not  
9 defined as a normal pregnancy yet,  
10 oftentimes he'll dictate a note.  
11 **Q All right, any abnormalities noted in**  
12 **Dr. Jones's notes?**  
13 MR. FARCHIONE: You used "notes"  
14 in the plural. Are you talking about this  
15 first ultrasound report, Mike?  
16 MR. BECKER: Right.  
17 THE WITNESS: His note on this  
18 March 9th ultrasound is where he defines  
19 what he feels to be a bicornate uterus, that  
20 he discussed with Robin that there's  
21 increased risk for preterm delivery;  
22 however, no specific intervention was  
23 required, and he was -- she was recommended  
24 to follow up in four weeks for her routine  
25 OB ultrasound which was when I saw her for

1 the first time on April 2nd.  
2 BY MR. BECKER:  
3 **Q All right. Read me the next note.**  
4 A May 28th, "Follow-up, no complaints,  
5 size consistent with dates. Ultrasound  
6 viable, active, normal size. Use 11/13,"  
7 November 13, "EDC. Follow-up four weeks,  
8 labs and triple check today."  
9 **Q Next entry?**  
10 A This is Dr. Jones's note, July 2nd.  
11 **Q Would you attempt to interpret his**  
12 **handwriting for me?**  
13 A "Doing well, positive fetal motion.  
14 Size equals dates." Undecipherable, I can't  
15 read two lines. "Return to clinic four  
16 weeks."  
17 **Q All right. Next entry?**  
18 A It's a date in July. I can't read the  
19 date stamp. I'll try to correlate it with  
20 the other record. It would be July 26th.  
21 "Patient without complaint, positive fetal  
22 motion. Size equal to dates. Positive Dopp  
23 tones. Follow up four weeks for 28-week  
24 check."  
25 **Q Next entry?**

1 A August 23rd, "Patient without  
2 complaints. Positive fetal motion. Size  
3 equals dates. Positive Dopp tones. Limited  
4 ultrasound shows breech. Counseled  
5 regarding need for primary transverse  
6 Cesarean section if breech persists. Not a  
7 candidate for version. Discussed warning  
8 signs and precautions for preterm labor,  
9 preterm delivery."

10 **Q Why wasn't she a candidate for  
11 conversion?**

12 A A bicornate uterus is a  
13 contraindication to external cephalic  
14 version.

15 **Q Okay, next entry?**

16 A September, and I can't read the date  
17 stamp. That would be September 8th,  
18 "Patient without complaint. Positive fetal  
19 motion. Size equals dates. Positive Dopp  
20 tones. Palpates vertex. Follow-up two  
21 weeks."

22 **Q Next entry?**

23 A September 20th, "Patient without  
24 complaint, positive fetal motion, size equal  
25 to dates, positive Dopp tones. Follow up

1 for patients that lived that far from  
2 Ashtabula.

3 **Q Next entry?**

4 A October 15th, 1999, "Patient without  
5 complaints. Positive fetal motion. Size  
6 equal to dates. Positive Dopp tones. Group  
7 B strep culture done. Cervix was closed 25  
8 percent. Vertex. Follow up weekly. Labor  
9 precautions explained."

10 **Q Next entry?**

11 A October 25th, 1999, "Patient without  
12 complaints. Positive fetal motion. Size  
13 equal to dates. Positive Dopp tones.  
14 Cervix closed 50 percent. Follow up one  
15 week."

16 **Q Next entry?**

17 A November 1st, "Patient without  
18 complaint, other than being tired. Positive  
19 fetal motion, size equal to dates, positive  
20 Dopp tones, cervix still closed 50 percent.  
21 Follow up one week."

22 **Q What were you attributing the fatigue  
23 to?**

24 A Well, on November 1st, she would have  
25 been 38 weeks. It's a common complaint at

1 two weeks."

2 **Q Next entry?**

3 A There's an entry by Dr. O'Maleaus. She  
4 was a family practitioner working in my  
5 office at that time. "No contractions. No  
6 vaginal bleeding. Positive fetal motion.  
7 No discharge. No edema. Breast feeding,"  
8 which I assume means plans to breast feed.  
9 "Natural childbirth. Assessment and plan:  
10 IUP 34 weeks, vertex by limited ultrasound,  
11 Group B strep next visit."

12 **Q That was by which doctor?**

13 A Kelly O'Maleaus.

14 **Q Why would she be seeing this patient?**

15 A She was a family practitioner that had  
16 been hired by the clinic that summer to  
17 start a new practice in Orwell, and the  
18 facilities were not ready in Orwell at the  
19 time that she was hired, and she needed an  
20 office to practice out of, and we developed  
21 a cooperative relationship where she saw  
22 patients in my office, both family practice  
23 and some well women and routine OB. It's a  
24 practice she continued when she moved to  
25 Orwell. She provided some antepartum care

1 38 weeks.

2 **Q Next entry?**

3 A November 8th, "Patient without  
4 complaints. Positive fetal motion. Size  
5 equal to dates." I noted that I appreciated  
6 that the baby had maybe dropped some.  
7 "Follow-up positive Dopp tones. Cervix was  
8 closed 80 percent. Vertex. Recommendation  
9 to follow up in one week."

10 **Q Next entry?**

11 A November 15th, "Patient without  
12 complaint. Positive fetal motion. Size  
13 equal to dates. Positive Dopp tones.  
14 Cervix closed 70 percent, vertex. Follow up  
15 one week," crossed out. "Follow-up labor  
16 and delivery November 19th."

17 **Q Next entry?**

18 A There are no additional entries.

19 **Q So, what was the date of the last entry  
20 you just gave me?**

21 A November 15th.

22 **Q Did you develop a plan at that time for  
23 induction?**

24 A We had discussed induction at 41 weeks  
25 which would have been around November 20th,

1 and I think we picked November 19th as the  
2 last working date that week, if I'm not  
3 mistaken.

4 **Q What was the reason for the discussion**  
5 **of an induction?**

6 A We discussed that, at 41 weeks, that  
7 the risks of continuing the pregnancy  
8 outweighed the risks of induction.

9 **Q Did you explain to the McKees what an**  
10 **induction meant or what it would consist of?**

11 A We talked about the fact that there  
12 were several agents available for use with  
13 induction, and that my preferred agent at  
14 the time was Cytotec.

15 **Q Okay. What did you tell them relative**  
16 **to Cytotec, if anything other than that?**

17 A That it was a prostaglandin that had  
18 the capability of both ripening the cervix,  
19 making the Pitocin inductions later more  
20 feasible, as well as oftentimes being the  
21 only agent needed, that it was highly  
22 effective and usually resulted in short  
23 inductions.

24 **Q Did you tell them that it wasn't**  
25 **FDA-approved for obstetricians to use in an**

1 **insert for Cytotec?**

2 A Before using it?

3 **Q Yes.**

4 A I do have a recollection that the  
5 medication came with a warning label on the  
6 bottle saying "Not for use in pregnancy,"  
7 meaning that it was not meant to be taken  
8 orally for the indication that it was FDA-  
9 approved.

10 **Q Say that again, please.**

11 A That Cytotec was not meant to be used  
12 by pregnant patients for its FDA-approved  
13 indication.

14 **Q Okay. That was on the bottle that the**  
15 **drug contained?**

16 A Right.

17 **Q Anything else you recall on the bottle**  
18 **of the drug, Cytotec?**

19 A Not specifically.

20 **Q So, I'm not sure that you answered my**  
21 **question. Do you think it's likely you ever**  
22 **bothered to study and read the package**  
23 **insert?**

24 MR. FARCHIONE: Objection to the  
25 "bothered to read" it. Go ahead, Doctor.

1 **induction?**

2 A I wouldn't have discussed that.

3 **Q To your knowledge, at that time was it**  
4 **FDA-approved for use by obstetricians as an**  
5 **induction?**

6 A It was not FDA-approved for that  
7 indication.

8 **Q All right. How did you come about**  
9 **starting to use Cytotec?**

10 A Dr. Jones actually had been using it at  
11 Fort Knox when we started the practice, and  
12 did some literature search and review on its  
13 current use in practice at that point in  
14 time, and when he came and started working  
15 at ACMC, we started using it fairly  
16 routinely.

17 **Q Do you actually have a specific**  
18 **recollection of ever reading the package**  
19 **insert for Cytotec?**

20 A Not in -- not at that point in time.  
21 The package insert would have no bearing on  
22 our use for it.

23 **Q All right. So, here's my question. Do**  
24 **you have any recollection whether you**  
25 **actually did bother to read the package**

1 THE WITNESS: I have read the  
2 package insert for Cytotec. I have read it  
3 several times. Whether I had read it front  
4 to back, cover to cover before this  
5 particular induction, I have no  
6 recollection.

7 BY MR. BECKER:

8 **Q Okay. You may have read it for the**  
9 **first time after this induction?**

10 A I believe the first time I read it  
11 cover to cover was after Searle came out  
12 with its letter.

13 **Q And what -- what year was that?**

14 A I don't -- I don't recall.

15 **Q What was the substance of the letter**  
16 **from Searle?**

17 A The substance of the letter from Searle  
18 was that the manufacturer objected to its  
19 off-label use by obstetricians in this  
20 regard.

21 **Q As a result of receiving that letter,**  
22 **did you change any of your practices?**

23 MR. FARCHIONE: Objection.

24 BY MR. BECKER:

25 **Q For use of Cytotec?**



1 MR. FARCHIONE: You know what,  
2 Mike? Subsequent actions --

3 MR. BECKER: This is -- this is  
4 all subsequent?

5 THE WITNESS: This is many --  
6 many months.

7 MR. FARCHIONE: Searle came out  
8 with this a little while ago.

9 MR. BECKER: Okay.

10 THE WITNESS: I would say that  
11 was in 2001, early 2001.

12 BY MR. BECKER:

13 **Q Well, I mean, I think it's fair game.**  
14 **Do you use Cytotec today?**

15 A Yes.

16 **Q Okay. Explain to me what Cytotec is.**

17 A It's a prostaglandin analog, synthetic  
18 prostaglandin.

19 **Q Okay. Does it come -- is it a paste?**  
20 **Is it on a --**

21 A It's a one hundred microgram tablet.

22 **Q Is it a tablet that you actually place**  
23 **on the cervix?**

24 A It's a scored tablet that we use --  
25 it's a scored tablet.

1 **supposed to place it during an induction?**

2 A Specifically what was our practice at  
3 the time?

4 **Q What was your practice at the time?**

5 A That we did a 50-microgram dose every  
6 three to four hours until adequate labor was  
7 established.

8 **Q And jumping ahead to Robin's induction,**  
9 **how many doses did you utilize?**

10 A She received three doses.

11 **Q What's the maximum number of doses**  
12 **you've ever done, placed in a patient?**

13 A I would say three or four would likely  
14 be it. I don't recall.

15 **Q But you're not supposed to place it any**  
16 **sooner than every four hours?**

17 A Our practice was to dose every three to  
18 four hours until an adequate labor pattern  
19 was established.

20 **Q Was there any policy and procedure ever**  
21 **drafted within the ACMC's records to your**  
22 **knowledge about use of Cytotec?**

23 A During what time frame?

24 **Q How about by the time of Robin's**  
25 **induction?**

1 **Q What does that mean?**

2 A It means that there's a precut line on  
3 one side of the tablet that's used for  
4 dividing the tablet, and it comes in a 100  
5 microgram tablet, and we would break the  
6 tablet along the score line and use a 50  
7 microgram dose intravaginally usually in the  
8 posterior fornix between the vaginal wall  
9 and the cervix.

10 **Q So, you would generally insert it not**  
11 **on the cervix but between the posterior wall**  
12 **of the cervix within the uterus?**

13 A No.

14 **Q Or within the vaginal cavity?**

15 A In the vaginal fornix.

16 **Q Do they recommend a certain location,**  
17 **to your knowledge, as to where to place it?**

18 MR. FARCHIONE: Who is "they"?

19 THE WITNESS: I don't know.

20 BY MR. BECKER:

21 **Q ACOG obstetricians, other people that**  
22 **you read literature --**

23 A The literature supports using  
24 intrarectal and intravaginal placement.

25 **Q All right, and how -- how often are you**

1 A My understanding was there was no  
2 written protocol on Cytotec at the time of  
3 Robin's induction.

4 **Q Were other people using a drug called**  
5 **Cervidil?**

6 A Yes.

7 **Q You weren't using Cervidil?**

8 A No.

9 **Q Why not?**

10 A I found it to be very expensive and  
11 ineffective.

12 **Q Prior to Robin McKee's induction, had**  
13 **you experienced hyperstimulation with other**  
14 **patients when you were utilizing Cytotec?**

15 A There were certainly times when the  
16 patients would develop a hyperstimulus  
17 pattern, but there was never any ill effect.

18 **Q When you -- prior to Robin McKee, when**  
19 **you, in fact, have, in fact, utilized**  
20 **Cytotec, correct?**

21 A Correct.

22 **Q Do you have -- did you have standing**  
23 **orders as to what nurses should do in the**  
24 **event that they appreciate hyperstimulation?**

25 A We did not have standing orders

1 specific to Cytotec use, but our standing  
2 orders for labor included orders for what  
3 patients should do if the nurse felt it was  
4 a problem with the fetal heart rate tracing.

5 **Q And does it fall under the category of**  
6 **what a nurse is supposed to do with**  
7 **nonreassuring fetal heart rate strips?**

8 A Correct.

9 **Q All right, but as to your knowledge, by**  
10 **the time of Sara McKee's induction, there**  
11 **was no standing order that specifically**  
12 **dealt with either Cytotec or**  
13 **hyperstimulation secondary to drugs?**

14 A No.

15 MR. FARCHIONE: Drugs -- you  
16 changed it. You've asked two questions,  
17 Mike.

18 BY MR. BECKER:

19 **Q I'm sorry. At the time of Robin**  
20 **McKee's induction, is it true that there was**  
21 **not any policy or procedure within ACMC**  
22 **relative to use and management of a patient**  
23 **who is induced with Cytotec, correct?**

24 A Correct.

25 **Q Next question: at the time of Robin**

1 orders that may have been given?

2 BY MR. BECKER:

3 **Q I'm talking about written guidelines,**  
4 **policies and procedures.**

5 A I would not expect nurses to translate  
6 one protocol for another.

7 MR. BECKER: Go ahead and mark  
8 them. Joe, I'm going to help myself when  
9 we're off the record.

10 (At this time Plaintiff's Exhibits  
11 1 to 3 were marked for identification  
12 purposes.)

13 THE VIDEOGRAPHER: Back on the  
14 record.

15 MR. FARCHIONE: I'm just going  
16 to put a general objection to Exhibits 1 and  
17 3 which post-date this delivery. We do not  
18 know what they said at the time of the  
19 delivery in question.

20 BY MR. BECKER:

21 **Q Okay. Doctor, handing you what's been**  
22 **marked as Plaintiff's Exhibit 1, which**  
23 **appears to be a policy and procedure for the**  
24 **Department of Nursing at ACMC on use of the**  
25 **drug, Cervidil; is that accurate?**

1 **McKee's induction, was there any policy and**  
2 **procedures within ACMC's promulgated records**  
3 **that speak to hyperstimulation, specifically**  
4 **hyperstimulation?**

5 MR. FARCHIONE: If you know.

6 THE WITNESS: In terms of  
7 Pitocin, in terms of Cervidil, any  
8 medication?

9 BY MR. BECKER:

10 **Q Any medication. I'm more interested in**  
11 **the -- in the concept of the term,**  
12 **hyperstimulation.**

13 A I believe that there were references in  
14 both the Pitocin and the Cervidil policy  
15 regarding steps to take for  
16 hyperstimulation.

17 **Q Okay. Would you, as an obstetrician,**  
18 **expect the obstetrical nurses to simply**  
19 **follow the same guidelines for a Cervidil**  
20 **induction when they were, in fact, utilizing**  
21 **Cytotec?**

22 A I don't -- I don't --

23 MR. FARCHIONE: When you say  
24 "guidelines," Mike, are you talking about  
25 written guidelines, or are you talking about

1 A Yes.

2 **Q To your knowledge, was such a policy in**  
3 **existence at the time of Sara McKee's**  
4 **induction?**

5 A I believe there was a policy. I don't  
6 have a specific recollection of what the  
7 differences between this policy and that  
8 policy would be.

9 **Q Here.**

10 A And this is your book.

11 **Q Under the side effects of Cervidil,**  
12 **number three, would you read what that says,**  
13 **sir, on the record?**

14 A Side effects number three,  
15 "Hyperstimulation," in parentheses, "(remove  
16 insert end and have terbutaline 0.25  
17 milligrams subcu available.)"

18 **Q What does that mean?**

19 A The Cervidil comes basically as a  
20 medication impregnated tape. The end of the  
21 tape has the medication. It's wrapped  
22 around the cervix, and that it has a long  
23 shoelace type appearance that's left outside  
24 the entrance of the vagina. If  
25 hyperstimulation occurs, the tape is

1 removed.

2 **Q And that's one of the differences that**  
3 **-- that is, that tape is one of the**  
4 **differences or distinctions between Cytotec**  
5 **and Cervidil?**

6 A Correct.

7 **Q If -- if one was utilized in Cytotec,**  
8 **and you wanted to remove it, how would you**  
9 **do it?**

10 A It cannot be effectively removed  
11 because, for the most part, the tablet  
12 dissolves in the acid pH of the vagina.

13 **Q In what period of time?**

14 A Usually no pill fragments can be found  
15 after an hour.

16 **Q Whereas Cervidil, you can pull it out**  
17 **to reduce the effect of -- of the drug?**

18 A It's more easily reversible in that  
19 nature.

20 **Q What does this reference mean to**  
21 **terbutaline?**

22 A Terbutaline is a beta II agonist that  
23 is FDA-approved for the use of causing  
24 bronchodilation in patients with asthma. It  
25 is also frequently used as a smooth muscle

1 **matter?**

2 A It can be given anywhere.

3 **Q Plaintiff's Exhibit 2, would you**  
4 **identify what that is, please?**

5 A It says "Ashtabula County Medical  
6 Center, Department of Nursing, Department of  
7 Obstetrics, Management of patient with  
8 nonreassuring fetal heart rate trace --  
9 fetal heart rate pattern," excuse me.

10 "The purpose is to help ensure optimal  
11 maternal and fetal well being. Personnel  
12 permitted to perform, registered nurse."

13 **Q And to your knowledge, was that policy**  
14 **and procedure in existence at the time of**  
15 **Robin McKee's birth?**

16 A This appears to be dated June of '98.  
17 So, this would most likely be the policy in  
18 place.

19 **Q Take a moment and look at that, Doctor,**  
20 **and tell me if there's anything within that**  
21 **particular policy and procedures that speaks**  
22 **to either Cytotec or hyperstimulation.**

23 A There's no reference to any specific  
24 medications in terms of stimulating labor,  
25 and the only documentation, the only area of

1 relaxant for the uterus in case of  
2 hyperstimulation.

3 **Q So, what is a nurse supposed to do**  
4 **under this Plaintiff's Exhibit 1 in the**  
5 **event of hyperstimulation relative to the**  
6 **drug of terbutaline?**

7 A If she feels that an intervention is  
8 required based on the hyperstimulation, then  
9 she will administer 0.25 milligrams of  
10 terbutaline subcu.

11 **Q Without any doctor's orders?**

12 A I don't know. The doctor would have to  
13 substantiate that order, but she might take  
14 it as a standard order. This is not a  
15 standing order. It is a policy.

16 **Q Have you used yourself terbutaline in**  
17 **response to hyperstimulation when a patient**  
18 **has been given Cytotec?**

19 A Yes.

20 **Q How do you administer terbutaline?**

21 A Subcutaneously.

22 **Q By injection?**

23 A Injection just below the level of the  
24 skin.

25 **Q In what part of the body? Does it**

1 documentation regarding uterine activity is  
2 frequency of contractions, duration of  
3 contractions, intensity and strength.

4 There's no specific protocol for  
5 hyperstimulation noted.

6 **Q Well, let's start with your definition**  
7 **of hyperstimulation. What does it mean?**

8 A Hyperstimulation is when there is no  
9 appreciable break between the end of one  
10 contraction and the beginning of the next,  
11 or if you have an intrauterine pressure  
12 catheter in place, that the uterus does not  
13 return to its baseline tone between  
14 contractions.

15 **Q Do you want at least a one-minute break**  
16 **between each contractions or a two-minute**  
17 **break between each contractions?**

18 A You'd like to see some return to normal  
19 resting tone of the uterus before another  
20 contraction begins.

21 **Q For how long?**

22 A (At this time the witness shrugged his  
23 shoulders.)

24 **Q Five seconds?**

25 A As long as it gets back to tone, back

1 to baseline tone, it's not hyperstimulus.  
 2 **Q You've never heard of a definition**  
 3 **where it talks about so many contractions**  
 4 **within so many minutes of time?**  
 5 A In terms of a definition, no, but  
 6 there's several people that use different  
 7 working definitions.  
 8 **Q Back at the time of -- of this**  
 9 **induction with Robin McKee, did you expect**  
 10 **your nurses to utilize terbutaline if they**  
 11 **appreciated hyperstimulation?**  
 12 MR. FARCHIONE: Objection.  
 13 They're not his nurses. He doesn't employ  
 14 them.  
 15 BY MR. BECKER:  
 16 **Q The -- with that objection, obstetrical**  
 17 **nurses at ACMC is what I'm talking about.**  
 18 A Can you repeat the question?  
 19 **Q Yeah. I'm interested as to what you**  
 20 **would have expected back at the time of Sara**  
 21 **McKee's birth. If your nurses appreciated**  
 22 **hyperstimulation, what would you expect them**  
 23 **to -- to do.**  
 24 MR. FARCHIONE: Objection. Go  
 25 ahead, if you can answer it with that.

1 THE WITNESS: I would -- would  
 2 expect them to utilize their judgment in  
 3 assessing whether there was any evidence of  
 4 untoward effect of the hyperstimulation.  
 5 Hyperstimulation in and of itself is not of  
 6 significant concern unless there's signs of  
 7 fetal nontolerance of the hyperstimulation.  
 8 There can be transient hyperstimulation of  
 9 the uterus in unstimulated labors.  
 10 BY MR. BECKER:  
 11 **Q Well, what intervention would you**  
 12 **expect the ACMC obstetrical nurses to engage**  
 13 **in if they appreciated hyperstimulation?**  
 14 A Without any evidence of any change in  
 15 fetal status, I would expect them to do  
 16 nothing other than closely observe.  
 17 **Q Okay. So, as far as you're concerned,**  
 18 **unless there's evidence of nonreassuring**  
 19 **fetal monitoring strips, the nurses are free**  
 20 **to disregard hyperstimulation?**  
 21 A Not disregard.  
 22 THE VIDEOGRAPHER: Off the  
 23 record.  
 24 (At this time a short recess was  
 25 had.)

1 (At this time the question was  
 2 read back.)  
 3 THE VIDEOGRAPHER: Back on the  
 4 record.  
 5 BY MR. BECKER:  
 6 **Q I want to make sure I understand what**  
 7 **you would have expected of your -- of the**  
 8 **ACMC obstetrical nurses at the time of Sara**  
 9 **McKee's birth, okay?**  
 10 A Okay.  
 11 **Q If they appreciated a hyperstimulation**  
 12 **pattern, but there was not a concurrent**  
 13 **evidence of nonreassuring fetal heart rate**  
 14 **strips, you would not expect them to engage**  
 15 **in any intervention?**  
 16 A No. There would be no indication for  
 17 an intervention, but I would expect them to  
 18 more carefully assess for any change in  
 19 fetal status. It's -- it's a warning sign,  
 20 but it's not a sign that requires an  
 21 immediate response.  
 22 **Q Well, isn't hyperstimulation**  
 23 **uncomfortable to the mom as well?**  
 24 A With different agents, there are  
 25 different degrees of strength of uterine

1 contractions. A woman can be contracting  
 2 every 30 seconds and not even feel them.  
 3 So, she could be in a hyperstimulus pattern  
 4 with no pain, or she could be contracting  
 5 every ten minutes with extraordinary pain.  
 6 You can't correlate frequency of  
 7 contractions with intensity.  
 8 **Q You mentioned something earlier about**  
 9 **an intrauterine pressure catheter. What is**  
 10 **that?**  
 11 A That is a device that's manufactured by  
 12 Utah Medical. It has a pressure transducer  
 13 on the tip. It is placed through the  
 14 vagina, through the cervical opening into  
 15 the amniotic fluid cavity, and directly  
 16 measures the pressure generated by each  
 17 uterine contraction, so it gives you not  
 18 only a qualitative assessment of how often  
 19 and how long the contractions are lasting,  
 20 but an actual amplitude of the intensity of  
 21 the contraction.  
 22 **Q Was -- was there a standing order to --**  
 23 **for the nurses to place the same?**  
 24 A Nurses at ACMC do not place  
 25 intrauterine pressure catheters.

1 **Q Okay.**

2 **A So, there would be no standing order.**

3 **Q When would you -- when would you as an**  
4 **obstetrician place one?**

5 **A You would place an IUCP if there was an**  
6 **indication for additional monitoring, if you**  
7 **felt like your external monitoring was**  
8 **inadequate, or you needed additional**  
9 **information, or the patient was not**  
10 **progressing despite what you thought were**  
11 **adequate contractions. It's another way to**  
12 **document whether your induction has been**  
13 **adequate to give the patient a chance to go**  
14 **into labor, but it does require ruptured**  
15 **membranes which was not Robin's case until**  
16 **later in the evening.**

17 **Q Handing you what's been marked as**  
18 **Plaintiff's Exhibit 3, would you identify**  
19 **that?**

20 **A "Ashtabula County Medical Center,**  
21 **Department of Nursing, Procedure: Fetal**  
22 **monitoring of high risk obstetric patient.**  
23 **Purpose: fetal surveillance technique to**  
24 **establish evaluation of fetal heart rate and**  
25 **uterine activity when high risk factors are**

1 present."

2 **Q This form goes on to list examples of**  
3 **high risk factors, correct?**

4 **A Again, this was developed after Robin's**  
5 **delivery. It's dated May of 2000, and it**  
6 **has general information regarding some**  
7 **factors which may attribute to a higher**  
8 **risk.**

9 **Q Is -- to your knowledge, was there such**  
10 **a policy and procedure in existence?**

11 **A I don't know. These are -- these are**  
12 **nursing policies and protocols.**

13 **Q To your knowledge --**

14 **A I have no input to, so --**

15 **Q Okay.**

16 **A If there was such a thing, I have not**  
17 **seen it.**

18 **Q To your knowledge -- well, would you**  
19 **have considered this induction with Cytotec**  
20 **such that it would fall under a category**  
21 **today of high risk?**

22 **MR. FARCHIONE: Objection. Go**  
23 **ahead.**

24 **THE WITNESS: I don't consider**  
25 **inductions to be inherently high risk.**

1 Oxytocin induction and augmentation is  
2 listed on this referenced A-1 article, but  
3 in my practice, I don't consider an  
4 induction to be an inherently high risk  
5 pregnancy.

6 **BY MR. BECKER:**

7 **Q All right. Going back to this**  
8 **induction, when was it scheduled to take**  
9 **place?**

10 **A It was originally scheduled for the**  
11 **19th of November, and my recollection is**  
12 **that the McKees contacted us and asked if we**  
13 **could move up the date by two days.**

14 **Q To the 17th of November?**

15 **A To the 17th of November.**

16 **Q All right. What was the reason they**  
17 **wanted to move it up two days?**

18 **A I don't recall the specifics. I**  
19 **believe they had some family conflict or had**  
20 **something else planned later in the week,**  
21 **and they wanted to know if we could do the**  
22 **induction two days earlier.**

23 **Q And November -- had they gone forward**  
24 **with the induction on the 19th, that would**  
25 **have been at what gestational age?**

1 **A She would have been one day short of 41**  
2 **weeks. She would have been 40 and**  
3 **six-sevenths.**

4 **Q As it was on November 17th, what was**  
5 **her gestational age?**

6 **A Forty and four-sevenths.**

7 **Q And you may have already told me this,**  
8 **but one more time. What was the reason that**  
9 **you wanted to gain the induction?**

10 **A It was our standard practice to**  
11 **initiate inductions at 41 weeks so that the**  
12 **delivery would be accomplished no later than**  
13 **42 weeks.**

14 **Q What did you tell the McKees about what**  
15 **to expect in the induction?**

16 **A Specifically I have no recollection of**  
17 **what I told them, but my standard discussion**  
18 **with patients for inductions I could**  
19 **describe.**

20 **Q Okay, go ahead. This is what you**  
21 **generally would tell?**

22 **A This is what I would generally tell a**  
23 **patient undergoing induction.**

24 **Q Okay.**

25 **A That they would be placed on a**

1 monitoring bed, that we would assess how the  
 2 baby was doing. We would do a cervical  
 3 examination, and in this case, recommended  
 4 induction with Cytotec. That we would place  
 5 one tablet and reassess, and in many cases,  
 6 one tablet is all that is required. We  
 7 would reassess three to four hours later.  
 8 If there was no significant change, no  
 9 evidence of significant uterine activity and  
 10 the onset of labor, then the dose would be  
 11 repeated as necessary, that the average  
 12 induction with Cytotec was under 14 hours  
 13 from initiation to delivery, that  
 14 transitioning to Pitocin was a possibility,  
 15 but in most cases was not going to be  
 16 required.  
 17 **Q Did you indicate to the McKees that you**  
 18 **would be available or a physician would be**  
 19 **available during the whole period of time of**  
 20 **the induction?**  
 21 A I would have to define what "being  
 22 available" means.  
 23 **Q Either in the hospital or across the**  
 24 **street at the clinic.**  
 25 A I don't recall making any declaration

1 as to where I would be during the course of  
 2 the induction.  
 3 **Q Prior to this induction of November**  
 4 **17th, 1999, would you routinely engage in an**  
 5 **induction and, then, leave the hospital**  
 6 **while the induction is going on?**  
 7 A Oftentimes, I would be across the  
 8 street in my office while an induction was  
 9 going on.  
 10 **Q And were there times when you would be**  
 11 **engaged in an induction, and you were at**  
 12 **home; that is, the patient being --**  
 13 **undergoing induction, and you would be home?**  
 14 A If my plan was that the induction was  
 15 proceeding, then I would usually not leave  
 16 the general area of the hospital.  
 17 **Q Can we agree that up until November**  
 18 **17th, 1999, you had every expectation of**  
 19 **having a normal newborn for the McKees?**  
 20 A Yes.  
 21 **Q Can we agree that it was really**  
 22 **essentially an uneventful pregnancy up until**  
 23 **the time of the induction?**  
 24 A We had identified some risk factors,  
 25 but the bicornate uterus didn't end up

1 having any obvious effect on the pregnancy  
 2 at the time.  
 3 **Q You had every reason to believe,**  
 4 **Doctor, that the McKees would receive a**  
 5 **normal, healthy newborn by the end of the**  
 6 **induction, correct?**  
 7 A That's my anticipation, but obstetrics  
 8 is an uncertain business. You can't promise  
 9 or guarantee any outcome.  
 10 **Q Okay. I'm not asking you about**  
 11 **promises or guarantees. I'm asking about**  
 12 **your expectation.**  
 13 A My expectation was that the baby would  
 14 be fine.  
 15 **Q To your knowledge, you never indicated**  
 16 **to the McKees up until the time of the**  
 17 **induction that: I'm worried about this or**  
 18 **that, and we may have a problem if there's**  
 19 **an induction?**  
 20 A We had no reason to anticipate a  
 21 problem.  
 22 **Q Okay. Now, what time did the -- and,**  
 23 **again, feel free to look at your chart.**  
 24 A Uh-huh.  
 25 **Q What time did the induction begin?**

1 A I believe the first Cytotec dose was  
 2 given at approximately 8:00, but I can give  
 3 you an exact time.  
 4 **Q This is a.m., correct?**  
 5 A It's in the nurses' flow sheet. Okay.  
 6 Nursing Assessment, their entry at 0800,  
 7 "Dr. Kingsley in to view strip, plan of care  
 8 discussed, Cytotec 50 micrograms vaginally,  
 9 Rochelle Perkio, RN."  
 10 **Q Eight a.m.?**  
 11 A Eight a.m.  
 12 **Q All right. When -- for the next three**  
 13 **or four hours, was there a positive response**  
 14 **to the use of Cytotec?**  
 15 A There was some evidence of increased  
 16 uterine activity.  
 17 **Q All right.**  
 18 A But --  
 19 **Q Excuse me.**  
 20 A But there was no evidence of onset of  
 21 labor.  
 22 **Q All right, and for that reason, you**  
 23 **ordered a second dosage of Cytotec?**  
 24 A I personally placed the second Cytotec.  
 25 **Q Okay. Do you recall having discussions**

**1 with the McKees at the time you placed the**  
**2 second dosage of Cytotec?**

**3 A** I have no specific recollection  
 4 regarding that discussion, but I came over  
 5 to assess how the induction was progressing.  
 6 There was no cervical change. Uterine  
 7 contractions were still mild, and, so, the  
 8 second dose was placed.

**9 Q All right, and what time was the second**  
**10 dose placed?**

**11 A** 11:20.

**12 Q All right. What happened thereafter**  
**13 for the next three or four hours; was there**  
**14 any success now that we have two units or**  
**15 doses of Cytotec onboard?**

**16 A** Is there a time in specific that you  
 17 want me to look at or just in general?

**18 Q I'm going up until the time of the next**  
**19 administration of Cytotec.**

**20 A** Again, there was some response to the  
 21 Cytotec. There was increase in the number  
 22 of contractions. The nurses noted at 4:00  
 23 that she began becoming slightly  
 24 uncomfortable. She was up to walk, normal  
 25 process at this point.

**1 Q All right. At some point, you applied**  
**2 a third dosage of Cytotec?**

**3 A** Um, I believe that was at 1800. It's  
 4 not marked on this record. I think it might  
 5 be on the strip, on the other page. At 1755  
 6 the third dose was placed.

**7 Q Okay, and did you place it?**

**8 A** Yes.

**9 Q Do you recall having any discussions**  
**10 with the McKees after placement of the third**  
**11 dose?**

**12 A** I recall that we were a little bit  
 13 discouraged that we hadn't seen any  
 14 significant change in the cervical status,  
 15 but I felt like things were a little bit  
 16 softer. The cervix was a little bit more  
 17 anterior, that I thought that there was  
 18 still a chance that she would go into labor  
 19 with the third Cytotec dose, but our plan  
 20 was that, if she didn't go into labor with  
 21 the third Cytotec dose, that we would rest  
 22 her on the floor overnight and start with  
 23 Pitocin in the morning as the Cytotec had  
 24 proven to be ineffective.

**25 Q Would you say that one more time,**

**1 please?**

**2 A** That we were going to go ahead and  
 3 place a third Cytotec dose.

**4 Q Okay.**

**5 A** That it was somewhat unusual that she  
 6 was not responding to the medication, and  
 7 that the plan was that, if she did not enter  
 8 into a regular labor pattern with the third  
 9 dose of Cytotec, that we would allow her to  
 10 rest overnight, and initiate a Pitocin  
 11 induction in the morning.

**12 Q All right. Then, what happened?**

**13 A** Specifically, I'm not --

**14 Q Did you -- you went home after you**  
**15 placed the third dosage?**

**16 A** I went home at some point during the  
 17 evening. I don't have a specific  
 18 recollection of what time that was.

**19 Q Can you tell from the chart what time**  
**20 you went home, Doctor?**

**21 A** No, it's not documented.

**22 Q How far away from the hospital do you**  
**23 live?**

**24 A** I live in Jefferson, which is  
 25 approximately 12 miles south of the hospital.

**1 Q At any time on the evening of the 17th,**  
**2 did you come back to the hospital and look**  
**3 at the fetal monitoring strips or examine**  
**4 Robin?**

**5 A** No.

**6 Q Why not?**

**7 A** There was no indication that my  
 8 presence was required.

**9 Q Did you have any phone contact by any**  
**10 of the obstetrical nurses between six p.m.**  
**11 and midnight on the 17th?**

**12 A** I believe there was one.

**13 Q And what time was that phone contact?**

**14 A** I'm having difficulty locating it.

**15 THE WITNESS:** Joe, do you  
 16 remember when the first phone call was? I  
 17 don't think it was --

**18 MR. FARCHIONE:** Here's a 2:20 in  
 19 the morning. Was there one earlier?

**20 THE WITNESS:** I thought there was  
 21 one earlier, because that's the delivery  
 22 one. There were two phone calls.

**23 MR. FARCHIONE:** This copy is  
 24 pretty difficult to read, Mike. Is yours  
 25 any better to read?

1 THE WITNESS: If you can direct  
 2 us to the time of the first phone call.  
 3 MR. SWITZER: 7:40.  
 4 THE WITNESS: There it is, okay.  
 5 BY MR. BECKER:  
 6 **Q What is your recollection, independent**  
 7 **-- if you have any independent recollection**  
 8 **of that phone contact?**  
 9 A She called to say that the -- the  
 10 patient was wanting something for pain  
 11 medication, and I asked if she had done a  
 12 cervical exam, and she said yes, there was  
 13 no change. I asked how she was contracting  
 14 in response to the last Cytotec dose, and  
 15 she said there was an increase in uterine  
 16 activity. I asked how the baby was doing.  
 17 She said there was good variability, and the  
 18 baby was fine.  
 19 **Q Who is "she"?**  
 20 A Denise Wadowick. So, my recollection  
 21 is I approved the use of pain medication  
 22 despite the fact that we were not showing  
 23 signs of active labor, but that it might  
 24 give her some pain relief and help her to  
 25 get a good night's rest so we could start

1 the medication.  
 2 **Q Did you have any other inductions going**  
 3 **on that evening?**  
 4 A No.  
 5 **Q Did you have any other of your patients**  
 6 **in labor that evening?**  
 7 A I don't recall if any other patients  
 8 came in, outpatient or not. The ACMC would  
 9 have a log of that, but I have no  
 10 recollection.  
 11 **Q Have we covered your recollection of**  
 12 **contact with OB nurses from ACMC for that**  
 13 **evening?**  
 14 A There was one subsequent phone call.  
 15 **Q In the morning?**  
 16 A At 2:00 in the morning.  
 17 **Q Okay, and let's go on to the 18th,**  
 18 **then. On the 18th, when was your next**  
 19 **contact from ACMC obstetrical nurses?**  
 20 A 2:20 in the morning.  
 21 **Q And that again was by Denise?**  
 22 A Yes.  
 23 **Q And what did she want?**  
 24 A She was concerned that there was  
 25 evidence of hyperstimulation, and I asked

1 the induction again in the morning.  
 2 **Q Where was the pain located?**  
 3 A Lower uterine, lower abdomen.  
 4 **Q So, it was just uterine cramping? Yes?**  
 5 A Discomfort with labor, yes.  
 6 **Q What type of pain medication did you**  
 7 **authorize?**  
 8 A We had standing orders for Nubain with  
 9 Phenergan.  
 10 **Q Is that an injection?**  
 11 A It's an IV injection, slow IV push.  
 12 **Q And was that actually administered to**  
 13 **Robin?**  
 14 A I believe it was administered at  
 15 several times throughout the night.  
 16 **Q What was your order; one time?**  
 17 A My order was that she could go ahead  
 18 and initiate pain management.  
 19 **Q Meaning she could use it when she feels**  
 20 **it's indicated?**  
 21 A Right. Nurses have a standing order  
 22 that allows them, with a particular dosing  
 23 schedule, to use pain medication along with  
 24 their nursing judgment as to how the baby's  
 25 tolerating labor and what the response is to

1 how the -- again about cervical status, and  
 2 she said no significant change at that  
 3 point, if I recall, and that the fetal  
 4 variability was still good, and my  
 5 recommendation was, as long as there was no  
 6 change in the fetal status, that we could  
 7 continue to observe and proceed with the  
 8 plan.  
 9 **Q So, you -- you were contacted by Denise**  
 10 **about 2:00 in the morning on the 18th?**  
 11 A 2:20 a.m. is what her notes say. I  
 12 have to trust her timing.  
 13 **Q Right?**  
 14 A Correct.  
 15 **Q But is it fair to state that what**  
 16 **prompted the call to you was Denise's**  
 17 **concern about hyperstimulation?**  
 18 A That's why she called.  
 19 **Q Okay. Why was she concerned about**  
 20 **that?**  
 21 MR. FARCHIONE: Objection, go  
 22 ahead.  
 23 BY MR. BECKER:  
 24 **Q If you know.**  
 25 A I don't know what her concern was,



1 because she then went on to say that the  
2 fetal heart rate tracing was reassuring, and  
3 in the evidence of a reassuring fetal heart  
4 rate tracing and the patient's membranes are  
5 now ruptured - that was my first report that  
6 membranes were ruptured - and she was  
7 contracting more regularly, and no evidence  
8 of -- of any change in fetal status, no  
9 intervention was authorized.

10 **Q Well, you could have told her to give**  
11 **terbutaline, correct?**

12 A I could have.

13 **Q Why didn't you?**

14 A Because there was no report of any  
15 change in fetal well being. I had to trust  
16 her assessment that at the time that,  
17 despite what she thought was some  
18 hyperstimulation, that the baby was  
19 tolerating the labor.

20 **Q Okay, and the concept of nonreassuring**  
21 **fetal heart tones, is that defined anywhere**  
22 **in policy and procedures, to your knowledge?**

23 A In terms of how to interpret?

24 **Q Right.**

25 A Fetal heart rate tracing, all of our

1 essentially reassure her that -- that, as  
2 long as we don't have nonreassuring fetal  
3 heart rate tones, we will tolerate the  
4 hyperstim?

5 A My job was not to reassure her. Her  
6 job was to reassure me. She reassured me  
7 that the baby was tolerating labor.

8 **Q Well, she called with a concern.**

9 A Correct.

10 **Q And to your knowledge, did you array**  
11 **her concern?**

12 A I told her that as long as there were  
13 no changes in the fetal heart rate tracing  
14 that made her feel that the baby was not  
15 tolerating labor, that we would continue to  
16 observe and see if Robin was going to enter  
17 into labor at that point.

18 **Q And do you recall what Denise told you**  
19 **relative to what the strips were**  
20 **demonstrating by 2:20 a.m.?**

21 A All she said was that there was no --  
22 there was nothing that was nonreassuring.

23 **Q You don't recall whether she spoke --**  
24 **specifically spoke to variability or decels**  
25 **or an absence of decels?**

1 nurses undergo significant training in fetal  
2 heart rate interpretation.

3 **Q What are the categories of**  
4 **nonreassuring fetal heart tones?**

5 A Nonreassuring fetal heart rate tracings  
6 can be characterized by periodic or  
7 nonperiodic changes in the fetal heart rate  
8 tracing that show decreases in variability,  
9 that show presence of uniform or variable  
10 decelerations in combination, and they have  
11 to be interpreted in context.

12 **Q Had you worked with Denise prior to**  
13 **this particular induction?**

14 A Certainly.

15 **Q And had you ever had any problems with**  
16 **Denise prior to this induction relative to**  
17 **her ability to either appreciate**  
18 **nonreassuring fetal heart rate tones or to**  
19 **engage in appropriate intervention?**

20 A No.

21 **Q Never had a problem with her?**

22 MR. FARCHIONE: He said no, he's  
23 not had a problem with her.

24 BY MR. BECKER:

25 **Q When did you next -- so, did you**

1 A We discussed variability, and she said  
2 there was no problem with variability.

3 **Q And have we covered your recollection**  
4 **of that conversation with Denise?**

5 A Yeah. This -- I mean, these are  
6 two-minute conversations at 2:30 in the  
7 morning. They're not lengthy.

8 **Q But she was an experienced nurse by**  
9 **that time, correct; Denise?**

10 A I believe that she had worked at the  
11 hospital for many years.

12 **Q And did she routinely call you in the**  
13 **middle of the night concerning a patient?**

14 A It is all OB nurses' practice to call  
15 the physician when they have a patient on  
16 labor and delivery. Some will call more  
17 often than others. Some concerns are more  
18 salient than others. The relationship  
19 between an obstetrician and their OB nurse  
20 is very --

21 MR. FARCHIONE: You've answered.

22 THE WITNESS: -- complicated.

23 BY MR. BECKER:

24 **Q When did you next hear from Denise or**  
25 **any OB nurse?**

1 A I had no contact until 6:00 in the  
2 morning, ten minutes after six, I believe,  
3 when I was called to say that the patient  
4 was nine centimeters, and my presence was  
5 requested.

6 **Q Who called you?**

7 A I believe it was Denise.

8 **Q I didn't hear that. Who called you?**

9 A Denise. I believe she was working a  
10 12-hour shift from seven to seven.

11 **Q Okay. Is it your practice to generate  
12 any notes at home if you were contacted?**

13 A No.

14 **Q So, you get a call at six a.m. saying  
15 that she's nine centimeters, come on in?**

16 A Come now.

17 **Q Okay. What did you proceed to do?**

18 A Came directly to the hospital.

19 **Q What time did you arrive at the  
20 hospital?**

21 A Again, this is based on her notes. It  
22 says that my presence was noted at 6:42.

23 **Q She called you at six a.m.**

24 A At 6:11.

25 **Q So, really --**

1 6:42, delivered at 6:46. So, a four-minute  
2 second stage.

3 BY MR. BECKER:

4 **Q What was the station when you arrived?**

5 A My delivery notes, it says on arrival  
6 on labor and delivery the patient was  
7 complete, complete and plus three.

8 **Q Was there a pediatrician in attendance?**

9 A No.

10 **Q What happened at birth?**

11 A The baby was delivered. There was a  
12 tight nuchal cord present that could not be  
13 reduced. The cord was clamped times two,  
14 cut between the clamps. The infant was then  
15 delivered immediately with no difficulty, no  
16 shoulder dystocia. It was a female infant  
17 that showed no muscular tone and was pale,  
18 was taken directly to the warmer.

19 Respiratory therapy was present. There was  
20 some internal meconium that was noted for  
21 the first time with the delivery of the  
22 head. The nasotracheal area was suctioned  
23 out with clear secretions, respiratory  
24 therapy, and the nurses then initiated a  
25 Code Pink.

1 A I got dressed, and I drove in.

2 **Q That's about half an hour?**

3 A I didn't take a shower. I didn't stop  
4 and get coffee. I didn't go anywhere else.  
5 I got dressed and came directly in.

6 **Q All right, and once you arrived at  
7 6:42, what did you find?**

8 A My initial assessment was that the  
9 fetal heart rate tracing, there was only a  
10 very short segment of the strip on the  
11 monitor for me to look at, but it showed  
12 absence of variability and some concerning  
13 periodic changes that I thought were  
14 nonreassuring. I ordered oxygen placement,  
15 assessed Robin, found that she was  
16 completely dilated and had her begin pushing.

17 **Q So, when you arrived she was fully  
18 dilated, correct?**

19 A Correct.

20 **Q And then how long did she engage in  
21 pushing?**

22 A My progress notes say that she pushed  
23 five times. In terms of how many minutes  
24 that was, prep was done at 6:43, and, then,  
25 on her delivery note, completely dilated at

1 **Q Was Sara taken away from the delivery  
2 room into another part of the hospital at  
3 that time?**

4 A After an initial resuscitative period,  
5 and I'd have to look at the Code Pink notes  
6 - those aren't my notes - she was taken to  
7 the intermediate nursery. Dr. Miller, who  
8 is the pediatrician on-call for our group,  
9 came in and attended the baby.

10 **Q Do you know who called Dr. Miller?**

11 A There were several nurses at this  
12 change of shift. Several nurses were there.  
13 I don't know who placed the phone call.

14 **Q What time was the actual delivery?**

15 A Actual delivery was at 6:46. Change of  
16 shift was at seven. So, both third shift  
17 and first shift nurses would have been  
18 present.

19 **Q What did you do for Robin after  
20 delivery?**

21 A After delivering the placenta and  
22 repairing the episiotomy, I went and checked  
23 to see what the assessment was on the -- on  
24 the baby to see how the baby was responding  
25 to the resuscitation. Then I came back and

1 reported to the McKees.

2 **Q Okay. At some time that morning, did**  
3 **you have an opportunity to discuss the**  
4 **induction with Denise?**

5 A I had only a minimal contact with her  
6 because she was off duty and was leaving the  
7 hospital.

8 **Q That morning, did you have an**  
9 **opportunity to go back and look at the**  
10 **strips that were generated during the night?**

11 A Yes, I did.

12 **Q As a result of reviewing the strips,**  
13 **did you have any conversations with the head**  
14 **of nursing --**

15 MR. FARCHIONE: Objection.

16 BY MR. BECKER:

17 **Q -- at ACMC?**

18 MR. FARCHIONE: Don't answer  
19 that, under the peer review statute,  
20 Michael.

21 BY MR. BECKER:

22 **Q What was your reaction once you had an**  
23 **opportunity to personally review the fetal**  
24 **monitoring strips?**

25 A I had many reactions.

1 BY MR. BECKER:

2 **Q I appreciate you being concerned and**  
3 **sympathetic for the McKees because of the**  
4 **condition of their daughter, but I'm**  
5 **interested in, you talked about shock and**  
6 **anger. I want to know, is the shock and**  
7 **anger related to what you saw on the fetal**  
8 **monitoring strips?**

9 A Yes.

10 **Q Tell me, explain that.**

11 A I don't feel that the communication  
12 that was provided to me as to the status of  
13 the induction correlated with what I saw on  
14 the fetal monitoring strip.

15 **Q Would it be fair for me to state that**  
16 **you felt you were misled by Denise in her**  
17 **interpretation?**

18 MR. FARCHIONE: Wait, wait a  
19 minute. "Misled" means intentionally.

20 BY MR. BECKER:

21 **Q I'm not suggesting -- I'm not for a**  
22 **second suggesting intentionally. Would it**  
23 **be fair for me to state that, after you had**  
24 **an opportunity to look at these strips, that**  
25 **you felt that the information that was**

1 **Q I need to know all of them.**

2 A My first response was concern for baby  
3 Sara. I had many emotions from anger and  
4 shock to disbelief.

5 **Q Let's take each emotion and tell me the**  
6 **basis for that emotion.**

7 A Well, I've got a profoundly depressed  
8 baby at birth that was unanticipated by me.  
9 That's not a usual occurrence in my  
10 day-to-day practice. So, I had lots of  
11 emotions churning about this couple  
12 delivering a baby that clearly is in very  
13 serious trouble and not knowing what the  
14 cause of that trouble was.

15 **Q Maybe I didn't make myself clear. I'm**  
16 **interested in what your reaction was after**  
17 **you had an opportunity to sit down and study**  
18 **the strips.**

19 A Uh-huh.

20 MR. FARCHIONE: Wait. Are you  
21 asking him what he saw on the strips, Mike?

22 THE WITNESS: Do you want me to  
23 --

24 MR. BECKER: First I want to know  
25 what his reaction is.

1 **imparted to you by Denise was inaccurate?**

2 A The fetal monitoring strip information  
3 was incongruous with the communication I  
4 received from Denise.

5 **Q And explain that statement.**

6 A The two did not make any sense,  
7 comparing one to the other. You would  
8 assume that you were talking about two  
9 different patients.

10 **Q All right. Tell me what you saw on the**  
11 **strips when you had an opportunity to review**  
12 **them.**

13 A I saw many episodes where the fetal  
14 heart rate tracing was not reassuring, and  
15 several areas where it was specifically  
16 ominous.

17 **Q To your knowledge, Doctor, up until the**  
18 **time that you actually put oxygen on Robin**  
19 **McKee, had she been given oxygen by anybody**  
20 **else during that induction?**

21 A I believe that she was, but I had no  
22 knowledge of that at the time of delivery.

23 **Q Did you have an opportunity to, in the**  
24 **next few days, have any conversations with**  
25 **the McKees?**

1 A Robin was discharged soon after  
2 delivery so that she could go and be with  
3 Sara when she was transferred to Rainbow, so  
4 I had minimal contact with Robin after the  
5 delivery. She had a discharge order at 2:15  
6 in the afternoon on the 18th.

7 **Q Do you recall saying anything to the**  
8 **McKees relative to the type of job Denise**  
9 **did during the night, during the induction?**

10 A No.

11 **Q To your knowledge, were there any new**  
12 **policies and procedures implemented or**  
13 **enacted as a result of this case?**

14 MR. FARCHIONE: Objection. Don't  
15 answer that.

16 MR. BECKER: I think that's a fair  
17 question, Joe. You're instructing him not  
18 to answer?

19 MR. FARCHIONE: Yeah. What  
20 happened after the fact is not relevant to  
21 what happened at the time.

22 BY MR. BECKER:

23 **Q Well, Doctor, do you provide any input**  
24 **for the obstetrical nurses' policies and**  
25 **procedures?**

1 **Cervidil or induction or hyperstimulation?**

2 A We have policies for each of those  
3 agents. We did not have a written policy  
4 for Cytotec at the time of Robin's  
5 induction.

6 **Q What would those policies be called?**

7 A Well, the -- the nursing policies  
8 would just be that. I think we have --

9 MR. FARCHIONE: So we're clear  
10 here, he's talking about physicians.

11 BY MR. BECKER:

12 **Q I'm talking about physicians.**

13 A Physicians.

14 MR. FARCHIONE: And is there  
15 something that's almost the same or similar  
16 to that that says "for physicians" at the  
17 top?

18 THE WITNESS: I don't know what  
19 -- specifically what that is called. We  
20 have -- I guess it would be a departmental  
21 guideline.

22 BY MR. BECKER:

23 **Q Okay, that's good, a departmental**  
24 **guideline.**

25 A (At this time the witness nodded his

1 A I have no direct input into those  
2 policies and procedures.

3 **Q To your knowledge, did the hospital**  
4 **ever consult with you about: here are the**  
5 **proposed OB policies and procedures, the**  
6 **nurses' policies and procedures; would you**  
7 **like to comment on them, or do you have any**  
8 **criticism of them?**

9 A The nursing policies and procedures are  
10 just that. They are their policies and  
11 procedures. The physicians develop policies  
12 and medical practices and approve standing  
13 orders, but we have no direct input into  
14 their policies and procedures.

15 **Q Were there any policies and procedures**  
16 **for physicians that were developed by the**  
17 **OB/GYN Department at APMC?**

18 MR. FARCHIONE: Talking about  
19 written policies like -- similar to what you  
20 just saw in Exhibits 1, 2 and 3.

21 THE WITNESS: We developed  
22 departmental policies.

23 BY MR. BECKER:

24 **Q To your knowledge, did the development**  
25 **of policies in any way speak to Cytotec or**

1 head.)

2 **Q Who -- who's the keeper of the**  
3 **departmental guidelines?**

4 A I would assume they'd be on file with  
5 the departmental minutes at APMC.

6 MR. FARCHIONE: Are you sure?  
7 I've never heard of this before, and that's  
8 why I'm asking. What he's talking about are  
9 things like this that, instead of saying  
10 "Department of Nursing," it would be  
11 "Obstetrical Physicians."

12 THE WITNESS: Well --

13 MR. FARCHIONE: "Procedure,  
14 purpose, general information."

15 BY MR. BECKER:

16 **Q It doesn't have to be identical to**  
17 **that, Doctor.**

18 MR. FARCHIONE: No, similar.

19 BY MR. BECKER:

20 **Q Guidelines are guidelines.**

21 A Well, there were many different  
22 documents that were generated during the  
23 course of the discussion about use of  
24 Cytotec significantly after this event. So,  
25 the -- the documents that went back and

1 forth, I don't know what they're entitled  
2 and who has ongoing copies of any drafts or  
3 anything like that, but what we tried to do  
4 was define the scope of the -- of the issue  
5 and respond as a department, so there was  
6 physician input into that, but as to whether  
7 -- whether there was a finished document  
8 that somewhere says: Department of  
9 Obstetrics and is signed by the chief of the  
10 department, I can't speak to that.

11 **Q Is -- is there an understanding between**  
12 **you, or was there an understanding back in**  
13 **November of '99 between you and the OB**  
14 **nurses that the mom is ready, fully dilated**  
15 **and ready to push. Even though you're not**  
16 **in the hospital, it's okay for her to push?**

17 A It is -- it was not my practice or my  
18 -- my expectation that patients would be  
19 pushing without my presence.

20 **Q So, I understand that there's some kind**  
21 **of a reflex with women that sometimes occurs**  
22 **around ten centimeters, but it more has to**  
23 **do with the position of the baby that gives**  
24 **the mom an urge to push; is that right?**

25 A Involuntary urge to push, correct.

1 even though some patients push for two  
2 hours.

3 **Q Do you recall any conversations you've**  
4 **had with Denise since this birth?**

5 A Regarding?

6 **Q The McKee case.**

7 A I've not discussed this case with  
8 Denise.

9 **Q You never have?**

10 A No.

11 **Q Why not?**

12 MR. FARCHIONE: Objection.

13 BY MR. BECKER:

14 **Q Let me ask you --**

15 MR. FARCHIONE: Peer review  
16 issues.

17 BY MR. BECKER:

18 **Q Did you feel that any discussions you**  
19 **would have with Denise, they should be in a**  
20 **formal setting versus informal?**

21 A I think that's an accurate statement.

22 **Q At what station do you prefer to be**  
23 **notified or what degree of dilatation do you**  
24 **prefer to be notified on a patient that's**  
25 **being induced?**

1 **Q Okay. Now, many times that happens at**  
2 **or about when the mom is ten centimeters,**  
3 **sometimes before.**

4 A Sometimes before.

5 **Q Sometimes after, right?**

6 A Correct.

7 **Q So, if a mom has an urge to push, and**  
8 **she happens to be ten centimeters, why**  
9 **wouldn't you want the nurses to permit her**  
10 **to -- to proceed to deliver the baby?**

11 A Our expectation is that our deliveries  
12 will be attended by a physician, and if a  
13 patient begins pushing voluntarily or  
14 involuntarily before a physician is in the  
15 hospital, then you're increasing the chance  
16 of a delivery that's going to be unattended,  
17 and the nurses, although they can handle an  
18 uncomplicated, no typ delivery, you don't  
19 know which deliveries are going to be  
20 uncomplicated and, so, physician presence at  
21 deliveries is an expectation. So, our -- to  
22 answer your question, our intention was that  
23 we don't want moms to push either  
24 voluntarily or involuntarily until we know  
25 that a physician is immediately available,

1 A I like to know when they're in active  
2 labor, so any time from five centimeters on,  
3 I like to be notified and aware, and in most  
4 cases I'll be in the call room which is just  
5 around the corner from labor and delivery.

6 **Q And do they notify you -- did Denise**  
7 **notify you when Robin went into active labor?**

8 A No.

9 **Q So, that's -- that's a call that you**  
10 **would have expected, but you didn't receive?**

11 A If I can just look at the labor. I'm  
12 trying to follow where she documented active  
13 labor. She was not in active labor until I  
14 was -- almost immediately before I was  
15 called. She was noted to be five  
16 centimeters at what looks to be 5:45 in the  
17 morning, and went on to completely dilate  
18 over the course of the next half hour. So,  
19 what happened was, her last check before  
20 that looked to be just before 4:00 in the  
21 morning, and she was still two. Let me make  
22 sure I'm looking at -- yeah, dilation was  
23 still one, I'm sorry, at 3:50 in the morning  
24 or so, and then the next check at 5:45, she  
25 was five, and I was called just after six.

1 **Q Is that kind of an unusual pattern rate?**  
 2 A It's very unusual for a first-time mom  
 3 to go from five to complete that quickly.  
 4 **Q Yes, most -- don't most PRIMIPs**  
 5 **increase about one-and-a-half centimeters an**  
 6 **hour?**  
 7 A That's correct.  
 8 **Q What do you attribute the unusual**  
 9 **pattern in Robin to?**  
 10 A I -- I don't have an opinion on that.  
 11 MR. BECKER: We're going to  
 12 take a break. We're winding down. Off the  
 13 record.  
 14 (At this time a short recess was  
 15 had.)  
 16 THE VIDEOGRAPHER: Back on the  
 17 record.  
 18 BY MR. BECKER:  
 19 **Q Doctor, the McKees recall that, at the**  
 20 **time that you were administering either the**  
 21 **second or the third dose of Cytotec, your**  
 22 **hand came out, and on the glove was a**  
 23 **partially dissolved tablet. Could that be?**  
 24 A Sure.  
 25 **Q What is -- what is the significance, if**

1 **anything, of -- of seeing a partially**  
 2 **dissolved tablet after four hours?**  
 3 A That's a common event, that the tablet  
 4 is broken down; its surface area is  
 5 increased; and that's how it's absorbed and  
 6 has its effect. So, the fact that there's  
 7 still some particulate matter from the  
 8 tablet is of no particular significance.  
 9 **Q I thought we -- you indicated earlier**  
 10 **that normally the whole tablet dissolves**  
 11 **within one hour. Maybe I misunderstood you.**  
 12 A We think that we see pretty much a peak  
 13 effect within one hour. In terms of not  
 14 being able to detect any additional residue  
 15 from the pill, I would say it's  
 16 significantly longer than that, but in terms  
 17 of, you know, the -- the medication works  
 18 by, you know, the pill has a limited surface  
 19 area, but as the pill dissolves, the  
 20 medication becomes available, and, so, the  
 21 medication is absorbed. There's still some  
 22 residual from the pill even after it  
 23 dissolves.  
 24 **Q Is there a discussion or a concept of**  
 25 **half life of pills when we're dealing with**

1 **Cytotec?**  
 2 A That -- there is the concept of half  
 3 life that's documented on its FDA-approved  
 4 indication but not anything that's published  
 5 on its intravaginal use.  
 6 **Q What is your understanding as to --**  
 7 **well, first of all, what does half life mean**  
 8 **to you?**  
 9 A That means the point at which half of  
 10 the efficacy of the -- it has already  
 11 achieved its peak effect, and it's already  
 12 reduced down to half of its peak efficacy.  
 13 **Q Okay, what is your understanding of**  
 14 **what the half life was of Cytotec?**  
 15 A It is probably in the two to three-hour  
 16 range.  
 17 **Q Is there any danger of putting three**  
 18 **doses or giving a patient three doses within**  
 19 **-- I'm not sure what we have here; 12 hours.**  
 20 **What's -- what's the time period between the**  
 21 **first dose and the third dose?**  
 22 A The first dose was at eight in the  
 23 morning.  
 24 **Q Eight, right.**  
 25 A And the third dose was --

1 MR. FARCHIONE: Six at night.  
 2 THE WITNESS: 6:00 at night.  
 3 BY MR. BECKER:  
 4 **Q Okay. So, we have three doses within**  
 5 **ten hours.**  
 6 A Right.  
 7 **Q Is there any danger of kind of a**  
 8 **synergistic effect of -- of this drug on the**  
 9 **patient's uterus?**  
 10 A I'm not sure how you're meaning  
 11 "synergistic."  
 12 **Q Well, can they kind of piggyback each**  
 13 **other and then make the second one -- adding**  
 14 **the second one makes the total impact on the**  
 15 **body more than just two; the third triples**  
 16 **the impact of the second one? To your**  
 17 **knowledge, have there been any studies along**  
 18 **those line?**  
 19 A I've not seen anything published along  
 20 those lines. My experience with the  
 21 medication is one dose has whatever effect  
 22 it's going to have. The next dose has  
 23 whatever effect it's going to have. After  
 24 two doses, usually subsequent doses don't  
 25 have any significant impact. Some patients

1 just don't respond well to it.

2 **Q Do you have an opinion on whether it's**  
3 **likely that Sara McKee sustained her brain**  
4 **damage some time in the morning of November**  
5 **18th, 1999?**

6 MR. FARCHIONE: Objection.

7 MR. SWITZER: Objection.

8 MR. FARCHIONE: He's not a  
9 pediatric neurologist or neonatologist. If  
10 you have an opinion. My objection is you're  
11 not qualified.

12 THE WITNESS: If you're asking me  
13 when do I think --

14 BY MR. BECKER:

15 **Q Yes.**

16 A -- Sara suffered damage.

17 **Q Yeah, brain damage.**

18 A I would say it was some time after  
19 11:00 at night.

20 **Q Okay, and what's the basis for that**  
21 **opinion?**

22 A My interpretation of the fetal heart  
23 rate monitoring strip and what the cause of  
24 it was is debatable, but when do I think the  
25 damage occurred? Some time after 11:00.

1 **Q -- within the next three or four or**  
2 **five or six months, correct?**

3 A Correct.

4 **Q But have you -- you have not zeroed in**  
5 **on where you're going to have a home,**  
6 **whether you're going to have a private**  
7 **practice or join a group?**

8 MR. FARCHIONE: Objection. We've  
9 gone through that enough, Mike. People take  
10 time off in between jobs all the time, and  
11 I'm not going to get into his personal life  
12 or his business life.

13 BY MR. BECKER:

14 **Q Well, let me ask you this, Doctor.**

15 A I don't have any problem sharing, but  
16 it's not pertinent to this case, so I don't

17 --

18 MR. FARCHIONE: That's why -- you  
19 may not, but I do. I'm not opening the door  
20 into business relationships now and taking  
21 time off and -- and those issues.

22 BY MR. BECKER:

23 **Q At the time of Sara's birth --**

24 A Uh-huh.

25 **Q -- your health was fine, correct?**

1 **Q Okay, 11 p.m.**

2 A Correct.

3 **Q And do you have an opinion whether or**  
4 **not Denise violated the prudent standard of**  
5 **care of an obstetrical nurse during her**  
6 **management?**

7 MR. FARCHIONE: Don't answer that  
8 question. He is not here as an expert on  
9 the standard of care for anyone other than  
10 himself.

11 MR. BECKER: I just -- I just  
12 asked him if he had an opinion.

13 MR. FARCHIONE: Yes. He's not  
14 going to --

15 THE WITNESS: The answer is no.

16 MR. FARCHIONE: He is not going  
17 to render one.

18 MR. BECKER: Anything else?

19 BY MR. BECKER:

20 **Q Just to recap, Doctor, I'm -- I'm --**  
21 **I'm not trying to pry into this, but it just**  
22 **seems a little unusual to me that you --**  
23 **you're leaving ACMC, and you hope to**  
24 **practice in this same county, Ashtabula --**

25 A Correct.

1 A Correct.

2 **Q Can we agree that one of the dangers**  
3 **of hyperstimulation and induction can be**  
4 **fetal hypoxia?**

5 MR. FARCHIONE: Objection to  
6 "dangers." Go ahead.

7 THE WITNESS: Hyperstimulation  
8 needs to be carefully monitored because of  
9 the possibility of progression into fetal  
10 hypoxia. The presence of one doesn't cause  
11 necessarily a progression into the next.  
12 You can have hyperstimulation with no fetal  
13 compromise, or you can have hyperstimulation  
14 with fetal compromise.

15 **Q And when you have fetal hypoxia, if**  
16 **prolonged enough and/or severe enough,**  
17 **severe enough, can it also lead to asphyxia?**

18 A That's the cause of asphyxia.

19 MR. BECKER: Okay. That's all I  
20 have.

21 MR. FARCHIONE: He'll waive  
22 viewing the videotape. We won't waive the  
23 transcript.

24 MR. BECKER: You ought to give  
25 Don an opportunity.

1 MR. SWITZER: No questions.  
 2 THE VIDEOGRAPHER: Off the  
 3 record.  
 4 (Off the videotape.)  
 5 THE VIDEOGRAPHER: Gentleman, do  
 6 you waive the one-day filing requirement?  
 7 I'll hold onto the custody of the original  
 8 videotape.  
 9 MR. FARCHIONE: Fine.

10  
 11  
 12  
 13  
 14  
 15 --- o0o ---  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

1 IN WITNESS WHEREOF, I have hereunto set  
 2 my hand and affixed my seal of office at  
 3 Cleveland, Ohio this \_\_\_\_\_ day of  
 4 \_\_\_\_\_, A.D., 2001.  
 5  
 6  
 7

8 \_\_\_\_\_  
 9 Luanne Stone, f.k.a. Protz  
 10 Notary Public  
 11 In and for the State of Ohio  
 12 My commission expires 4/6/03  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

1 CERTIFICATE

2 The State of Ohio, )  
 3 County of Cuyahoga. ) SS:  
 4 I, Luanne Stone, a Notary Public within  
 5 and for the State of Ohio, duly commissioned  
 6 and qualified, do hereby certify that the  
 7 within-named witness, GEORGE KINGSLEY, D.O.,  
 8 was by me first duly sworn to testify to the  
 9 truth, the whole truth and nothing but the  
 10 truth in the case aforesaid; that the  
 11 testimony then given by the above-referenced  
 12 witness was by me reduced to stenotypy in  
 13 the presence of said witness; afterwards  
 14 transcribed; and that the foregoing is a  
 15 true and correct transcription of the  
 16 testimony so given by the above-referenced  
 17 witness.

18 I do further certify that this  
 19 deposition was taken at the time and place  
 20 in the foregoing caption specified and was  
 21 completed without adjournment.

22 I do further certify that I am not a  
 23 relative, counsel or attorney for either  
 24 party, or otherwise interested in the  
 25 event of this action.