

THE STATE OF OHIO,)
) SS:
COUNTY OF HURON.

IN THE COURT OF COMMON PLEAS

KENNETH M. STRONG,)
Executor, etc.,)
)
Plaintiffs,)
)
v.) Case No. CVA-92-866
)
DR. RONALD D. WINLAND,)
et al.,)
)
Defendants.)

- - -

Deposition of ALFRED GEORGE ERNEST

KITCHEN, M.D., taken by the Defendants as if upon cross-examination before Faye M. Farley, a Stenographic Reporter and Notary Public within and for the State of Ohio, at the offices of St. Vincent Charity Medical Building, 2322 East 22nd Street, Cleveland, Ohio, on Tuesday, the 14th day of June, 1994, commencing at 2:25 p.m., pursuant to agreement of counsel.

- - -



MIZANIN REPORTING SERVICE, INC.
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

848 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331 • FAX: (216) 241-8044

Howard D. Mlshklnd, Esq.,
and
Law Offices of Michael F. Becker Co., L.P.A.,
By: Jeanne M. Tostl, Esq.,

Jacobson, Maynard, Tuschman & Kalur,
By: Steven A. Sklver, Esq.,

2000 2001 2002

It is stipulated by and between counsel for the respective parties that this deposition may be taken in stenotypy by Faye M. Farley; that her stenotype notes may be subsequently transcribed in the absence of the witness; and that all requirements of the Ohio Rules of Civil Procedure with regard to notice of time and place of taking this deposition are waived.

Page 4 of 4

I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page

Cross-Examination by Mr.

4

OBJECTIONS:

By Mr. Mlshkind

13, 15 26, 46

- - -

1 ALFRED **GEORGE ERNEST** KITCHEN, M.D. ,
2 called by the Defendants for the purpose of
3 cross-examination, as provided by the Ohio Rules of
4 Civil Procedure, being by me first duly sworn, as
5 hereinafter certified, deposes and says as follows:

6 CROSS-EXAMINATION

7 BY MR. SKIVER:

8 Q. For the record, would you state your full name,
9 please?

10 A. Alfred George Ernest Kitchen.

11 Q. And your current business address?

12 A. 2322 East 22nd Street, Suite 303.

13 Q. Doctor, have you given a deposition before?

14 A. Yes, I have.

15 Q. So you know that if you don't understand any of my
16 questions, just let me know and I will rephrase it.

17 Doctor, what materials have you reviewed in
18 preparation for today's testimony?

19 A. I've been forwarded a whole stack of information
20 relative to this lady with hospital stays from
21 18/26/91 to 18/28/91. Hospital records from her
22 subsequent admission on 11/26/91 through to her
23 demise. And there's some information from
24 Flsher-Titus Hospital and from Lorain Community
25 Hospital.

1 Q. All right.

2 A. I have also reviewed depositions of the principal
3 physicians, Dr. Winland and Dr. McLoney. And the
4 depositions of the nurses in -- the nurse and the
5 LPNs involved in the case.

6 Q. Any others?

7 A. And copies of the letters from Dr. Abraham.

8 Q. Do you have all the material that you need to reach
9 your opinion?

10 A. Yes, I do.

11 Q. Okay. Did you review any x-rays?

12 A. Just the report, not the actual x-rays.

13 Q. Okay. What are your criticisms? Let's start with
14 Dr. McLoney.

15 A. I think Dr. McLoney's error in this particular case
16 was not handling the situation appropriately on the
17 evening of the 27th.

18 Q. What should he have done?

19 A. I believe he should have either gone to the hospital
20 to evaluate the patient himself or made arrangements
21 for some other physician to evaluate the patient.

22 Q. And if he had done so, what in your opinion would
23 he have found?

24 A. I believe he would have found the lady to be in
25 heart failure. And with her combination of

1 problems, I think she should have been moved into
2 the Intensive Care Unit at that time for more close
3 observation to proceed with diagnostic testing in
4 order to make the appropriate diagnosis as to the
5 lady's problem on that occasion, and to initiate
6 appropriate management.

7 Q. Now, you say with her combination of problems, what
8 problems are you talking about?

9 A. The main problems are the documentation of
10 dehydration and electrolyte imbalance.

11 Q. Why were those important?

12 A. That combination is very difficult or can be very
13 difficult to treat.

14 Q. Do you feel it was difficult to treat in her case?

15 A. With the reported problems that she apparently had,
16 with the suspicious history of coronary artery
17 disease, a documented history of aortic valvular
18 disease, a suspected history of congestive failure
19 as suspected by Dr. Winland, it would have been more
20 appropriate to manage this lady very, very closely
21 rather than try to watch her on a regular floor.

22 Q. What would that monitoring very closely consist of
23 in your opinion?

24 A. Well, that would have depended entirely on what
25 evaluations he would have arrived at on that evening

1 at 10:00. The diagnostic possibilities included a
2 myocardial infarction, included rhythm
3 abnormalities, a congestive heart failure, and it
4 included pulmonary embolism as the major diagnostic
5 possibilities,

6 Q. In terms of the monitoring that you feel -- Well,
7 let me back up a second. What do you feel that she
8 had on the evening of November 27th at the time she
9 had her symptoms?

10 A. I think she was going into heart failure.

11 Q. All right. You don't believe she was having a
12 heart attack?

13 A. There is no way for me to know that.

14 Q. All right. And as to rhythm disturbance or
15 pulmonary embolism, would it be the same, there
16 would be no way to know?

17 A. That's why I said certain diagnostic testing at
18 that time, that instance, would have been very
19 valuable in helping to decide which direction to go.
20 I think she was going into heart failure based upon
21 the evidence in the chart. Pulmonary embolism would
22 have been another distinct possibility, and that's
23 where the chest x-rays at that time would have been
24 very helpful. If the x-ray was clear on the evening
25 of the 10th, I would have --

1 MR. MISHKIND: On what evening?

2 A. On the evening -- 10:00 on the evening of the 27th,
3 I would have leaned very clearly towards the
4 pulmonary embolism. I think a chest x-ray would
5 have been particularly beneficial.

6 Q. (BY MR. SKIVER) What do you feel a pulmonary
7 embolism would have shown?

8 A. Congestive failure.

9 Q. What do you base that upon?

10 A. Her clinical symptoms,

11 Q. Specifically what?

12 A. Her complaint was basically shortness of breath
13 PND, which subsequently progressed to orthopnea.

14 Q. Assuming that a diagnosis of congestive heart
15 failure had been reached on the evening of the
16 27th, what would the standard of care have required
17 of Dr. McLoney to do?

18 A. Well, at that point in time, with that diagnosis,
19 and with the combined diagnosis of dehydration,
20 electrolyte imbalance, and congestive heart failure,
21 he should have had -- I would have placed a
22 Swan-Ganz catheter in her immediately. So if he
23 wasn't capable of doing that, it would have been
24 appropriate for him to contact someone who could.

25 Q. All right. You said you would have, but my question

1 though is does the standard of care require that he
2 put a Swan-Ganz in?

3 A. Absolutely, absolutely.

4 Q. All right. And what do you base that upon?

5 A. That's the only way you can manage that combined
6 problem. You must know exactly where you are with
7 respect to the hemodynamic parameters, and the only
8 way you can do that is with a Swan-Ganz.

9 Q. How were you managing the electrolyte abnormality?

10 A. The initial approach was to hydrate her with saline,
11 which is a very slow way of dealing with it. And
12 with somebody who doesn't go into heart failure, it
13 will eventually correct itself. But when you add
14 the combination of requiring fluid restriction to
15 treat congestive failure, and rehydration to treat
16 electrolyte abnormalities, it becomes a very
17 precarious situation.

18 Q. To your knowledge, was the patient on any fluid
19 restrictions at any point in time?

20 A. There was an order by Dr. Winland, I believe, on the
21 morning of the 28th. He was going to restrict
22 fluids at 8:00. "11/28/91, Will start fluid
23 restriction and continue IV saline." Those two
24 things are exactly opposite from one another. If
25 you are going to restrict fluids, you are not going

1 to give IV saline.

2 Q. Why do you say that?

3 A. Because saline is a fluid.

4 Q. I understand that, but why would you say that
5 they're exactly opposite of each other in terms of
6 treatment?

7 A. Well, it depends on what you're treating. If you
8 are treating congestive failure, you are going to
9 restrict fluids. If you are treating electrolyte
10 abnormalities, the best way to treat hyponatremia
11 is with fluid restrictions. If you're treating
12 dehydration, you are going to give fluids.

13 My point is that at that point in time they
14 weren't sure. It doesn't appear they were sure what
15 the diagnosis was or how to treat it.

16 Q. Other than placing a Swan-Ganz catheter, what did
17 the standard of care require of Dr. McLoney that
18 night?

19 A. It would have depended upon a host of relatively
20 simple evaluations. She would have needed an
21 electrocardiogram. She would have needed continuous
22 EKG monitoring. She may have benefited from
23 arterial lines, certainly. I did mention EKG. I
24 did mention chest x-rays. Continuous monitoring of
25 her rhythm. Chest x-rays. I did say a Swan-Ganz

1 should have been initiated. Probably an arterial
2 line. And I would have repeated all her blood
3 laboratory studies at that point in time.

4 You would be looking for electrolyte
5 abnormalities for any evidence of any CPK changes
6 that might indicate myocardial infarction. And I
7 also would have done blood gases.

8 Q. Anything else that Dr. McLoney should have done?

9 A. Offhand, I can't think of anything else at this
10 time. There may have been -- it would have been
11 predicated upon the results of the above list of
12 testing.

13 Q. What happened to the patient throughout that night
14 and the following day when she was seen by Dr.
15 Winland?

16 A. I think the nursing notes clearly indicate that the
17 lady continued to deteriorated. Based upon the
18 nurse's deposition, she was concerned about this
19 lady enough to contact her supervisor on at least
20 two occaslons. And it was through the supervisor
21 that McLoney was contacted again. And I thlnk when
22 Dr. Winland arrived at 8:00 or so that following
23 morning, that he should have been tuned to the fact
24 that the nursing personnel were very concerned about
25 this lady.

1 Q. So it was your opinion from reading the testimony of
2 the nurse that they felt she was continuing to
3 deteriorate throughout the night?

4 A. I think that's evident from the nursing notes, yes.

5 Q. Any specific notes that point that out to you?

6 A. There is one on the 27th at **0900**, Complaint of
7 feeling short of breath. **1300**, Feels dyspneic.
8 I should back up. There was another one. Confused
9 at **11:00** and agitated and creates a disturbance.

10 Again, **1300**, Dyspneic. **1400**, Complained of
11 feeling wheezy. Wheezy heard in left lower lobe on
12 auscultation. **1530**, Not able to breathe. **1900**,
13 Returned to bed. Stated she needed some oxygen.
14 **2000** hours, Can't breath. Slightly confused. **2210**,
15 Assessment unchanged. **2240**, Dr. McLoney notified;
16 orders received. There's a documentation of **0230**
17 calling out "Kenny." Taping phone on bed rail.

18 There are number of observations by the nursing
19 personnel that would indicate to me that something
20 was going on wlt h this patient.

21 Q. You made the statement, and perhaps you said the
22 nursing notes indicated that the patient was
23 deteriorating?

24 A. It's my opinion based upon the information that the
25 nurses documented that the patient was

1 deteriorating, yes.

2 Q. That's your interpretation as to what you're seeing
3 there?

4 A. That's correct.

5 Q. **As** opposed to their testimony?

6 A. That's correct.

7 Q. Okay. Any other criticisms of Dr. McLoney?

8 MR. MISHKIND: Let me object. I think
9 he indicated before that he can't think of
10 any others at this particular point.

11 MR. SKIVER: I understand that.

12 A. I think he made the same mistake at **6** in the morning
13 as he made the evening before in not responding
14 appropriately to the -- basically the request from
15 the nursing personnel.

16 Q. (BY MR. SKIVER) All right. And that's by and large
17 your major criticism of Dr. McLoney, right?

18 A. That's correct.

19 Q. Okay. Then let's move on to Dr. Winland.

20 A. Okay.

21 Q. I presume you're going to give a ditto for him on
22 all the same things that you criticized Dr. McLoney
23 on?

24 A. Yes.

25 Q. Okay. Is it your opinion that at the time he saw

1 Mrs. Strong that he should have moved her over to
2 the intensive care area?

3 A. Yes.

4 Q. Why do you say that?

5 A. Because the combination of problems that she had
6 would require more sophisticated monitoring in order
7 to tell you exactly where you were. She would have
8 needed much more closer observation by the nursing
9 personnel and he would have needed the added
10 advantage of using sophisticated monitoring
11 capabilities in order to know exactly what you're
12 dealing with.

13 Q. Is it your opinion, Doctor, that it is impossible to
14 treat a patient like this through the use of
15 hemodynamic monitoring?

16 A. In a 77 year old lady with what Dr. Winland has
17 documented to be her past history, a history of
18 congestive heart failure, and seeing the
19 deterioration in this lady from the time of her
20 admission until he saw her at 8:00 that morning,
21 yes, he should have admitted her to the intensive
22 care unit.

23 Q. It sounds like you're basing an awful lot upon what
24 Dr. Winland seen at the time, correct?

25 A. He had access to the same things I did. He had

1 access to the nursing notes. He would have known
2 that Dr. McLoney was called on at least two
3 occasions with concern from the supervisors.

4 Q. But aren't you indeed saying this really is a call
5 that's based upon the judgment of the physician
6 seeing the patient at the time?

7 MR. MISHKIND: Objection.

8 A. Yes. It's in his judgment as to what to do and how
9 to handle the situation. My point is, it was
10 inappropriate.

11 Q. (BY MR. SKIVER) I understand what your point is.
12 But do you feel it's Inappropriate to treat a
13 patient with congestive heart failure on a regular
14 floor?

15 MR. MISHKIND: You're talking in
16 general or specifically in this case?

17 Q. (BY MR. SKIVER) Doctor, if you have any questions
18 about what my question is, feel free to ask me to
19 explain it to you.

20 A. I am going to ask you to be a little more specific.

21 Q. In general, do you feel that a congestive heart
22 failure patient cannot be treated on the regular
23 floor of a hospital?

24 A. In general?

25 Q. Yes.

1 A. And not as it relates to this patient?

2 Q. In general first.

3 A. It is possible in some situations to monitor
4 patients effectively on a regular floor, yes.

5 Q. And what are the criteria that you would use to
6 determine whether or not a patient could be
7 monitored on a regular floor?

8 A. By being monitored, I mean, on a 24-hour continuous
9 EKG monitor. In patients who have perhaps had a
10 chronic history of congestive heart failure with
11 repeated admissions who don't have any complications
12 that are in addition to the congestive heart
13 failure that would make their management more
14 complicated, plain, ordinary, simple uncomplicated
15 congestive heart failure could be managed on a
16 regular floor, yes.

17 Q. You're saying they have to be continuously monitored
18 with EKGs?

19 A. I think it would be -- one of the things you can't
20 rule out is rhythm abnormalit es. You have to
21 study that.

22 Q. Is that the standard of care, to use a continuous
23 EKG on a patient with congestive heart failure?

24 A. I would say so, yes.

25 Q. Can you point to anything in the literature that

1 would support that position?

2 A. I'm not sure that I have ever looked for anything.

3 Q. Do you treat patients with congestive heart failure
4 in your hospital out on a regular floor without
5 continuous monitoring?

6 A. Certainly not for the initial **24** to **48** hours, no.

7 Q. Why not for the first **24** to **48** hours?

8 A. Because you don't know whether or not rhythm
9 abnormalities are contributing to the congestive
10 failure or not.

11 Q. Once you rule out rhythm abnormalities, would you
12 feel comfortable in removing them off of the
13 continuous EKG monitoring?

14 A. Yes.

15 Q. What other criteria do you use to admit a patient to
16 the hospital with a congestive heart failure?

17 A. I don't think any patient with congestive heart
18 failure can be safely handled as an outpatient
19 with the exception of those chronic long-standing
20 heart failure patients who have been on borderline
21 failure for a long period of time and have
22 demonstrated they can be safely managed as an
23 outpatient.

24 Q. Well, perhaps I should have you define what
25 congestive heart failure -- your opinion of what

1 the symptoms of congestive heart failure are.

2 A. Well, initial symptoms are what we call PND
3 orthopnea, shortness of breath, wheezing. They may
4 or may not have chest pain. They may have
5 associated coronary disease. Shortness of breath
6 on exertion. Shortness of breath or difficulty
7 breathing with any kind of stress be it physical or
8 emotional or psychological.

9 Q. Anything else?

10 A. Uhn-uhn.

11 Q. All right. That was a no?

12 A. That was a no.

13 Q. All right. Would you require that all those be
14 present in order to make your diagnosis of
15 congestive failure or would some of those symptoms
16 in your mind be consistent with congestive failure?

17 A. There's certainly some that are hallmarks of
18 Congestive failure. Particularly, shortness of
19 breath, be it rest or on exertion. PND Orthopnea.
20 And probably some bronchial spasms are the
21 highlights of congestive failure.

22 Q. Are you saying that if a patient were to present
23 themselves to your office with complaints of
24 wheezing and shortness of breath on exertion, that
25 you would admit them to the hospital?

1 A. I didn't say that.

2 Q. What are you saying?

3 A. I'm saying if they had the full constellation of
4 symptoms, plus clinical signs. If I saw them in my
5 office, and it would also depend upon whether or not
6 I had seen this patient before or had any previous
7 information or history or any other information that
8 would help to assist me.

9 Q. Knowledge of the patient is important, correct?

10 A. To some extent, yes.

11 a. You said clinical signs, what clinical signs are you
12 talking about?

13 A. Basically the hallmarks that you frequently deal
14 with are jugular venous distension, gallops, as far
15 as heart sounds are concerned. You would listen for
16 any evidence of rales. You would look for any
17 evidence of peripheral edema. You may look for any
18 evidence of hepato-jugular reflux. Those are
19 perhaps the hallmarks of congestive heart failure.

20 Q. Now, in terms of those hallmarks, those, of course,
21 can all been caused by things other than congestive
22 heart failure, correct?

23 A, To some extent, certain disease processes overlap
24 with clinical signs, that's correct.

25 Q. Are there any of those symptoms or clinical signs

1 that you feel can be caused by congestive heart
2 failure only and not caused by any other disease
3 process?

4 A. The constellation that I mentioned is pretty
5 diagnostic with congestive heart failure.

6 Q. You're talking about taking them all together,
7 correct?

8 A. Yes.

9 Q. But singularly, any one of those clinical
10 signs --

11 A. Can be found in a variety of different disease
12 processes, absolutely.

13 Q. Okay.

14 A. Absolutely.

15 Q. So what you as a physician do is you take the
16 symptoms that the patient presents and the clinical
17 findings that the patient presents, and you couple
18 that with your knowledge of the patient, their
19 background, and you come to a diagnosis as to
20 whether or not they have any underlying disease
21 process, correct?

22 A. That's correct.

23 Q. All right. And at that point in time, you determine
24 the severity of that process?

25 A. That's correct.

1 Q. All right. In Mrs. Strong's case you've already
2 testified you believe she had congestive heart
3 failure on November 27?

4 A. That's correct.

5 Q. All right. Did she have it any earlier than this in
6 your opinion?

7 A. I don't believe there's sufficient information in
8 the physician progress notes or in the nurses notes
9 to be certain about that.

10 Q. Is it the shortness of breath that you're hanging
11 your hat on for the most part in terms of the
12 diagnosis of November 27 as to this congestive heart
13 failure?

14 A. No. The shortness of breath, as she described,
15 wheezing, as the nurse described, wheezing on
16 expiration. Inappropriate behavior certainly can be
17 a manifestation of congestive heart failure. I
18 think the concern I have in this particular case is
19 that with the constellation of symptoms, I believe
20 she was in congestive heart failure and I believe
21 that he should have made the determination at 10:00
22 on that evening and 7:00 in the morning or 8:00 in
23 the morning to admit her to a place where
24 appropriate diagnostic testing could have been done.

25 Q. With regard to the inappropriate behavior, *you*

1 would agree with me, would you not, that the
2 inappropriate behavior that was documented in the
3 chart consists of a number of probable causes, isn't
4 that true?

5 A. Absolutely correct.

6 Q. In fact, her electrolyte imbalance alone could cause
7 that inappropriate behavior, could it not?

8 A. Except she didn't demonstrate any inappropriate
9 behavior when she had abnormal electrolytes, so
10 something changed.

11 Q. My question to you though is, Doctor, the sodium
12 level alone in a patient of Mrs. Strong's age can
13 cause inappropriate behavior, can't it?

14 A. But it didn't. It didn't at the time. It can under
15 certain circumstances. Situations change. But my
16 point is that at the time I was in the emergency
17 room originally, there was no documentation of
18 confusion or inappropriate behavior at a time when
19 she had abnormal electrolytes,

20 Q. But we are in agreement that you can see that with
21 a sodium level of the level she had in her age?

22 A. Yes.

23 Q. And in fact, you can also see inappropriate behavior
24 in patients who are 77 years old just after being
25 admitted to the hospital and taken out of their

1 normal environment, isn't that true?

2 A. That can be.

3 Q. You have seen that before, haven't you?

4 A. Yes.

5 Q. I believe you've indicated then that you felt -- you
6 indicated that prior to the 28th she was in
7 congestive heart failure based upon the data that
8 was presented to you, is that true?

9 A. She was certainly in congestive heart failure on the
10 evening when the first call was made to Dr. McLoney.

11 Q. All right.

12 A. But remember, the development of progressive
13 congestive heart failure is usually a slow
14 progressive event.

15 Q. Do you have an opinion as to whether or not the
16 congestive heart failure got better between the
17 evening of November 27th and her subsequent arrest?

18 A. No. It got worse.

19 Q. So in fact if she had improved, that would be
20 inconsistent with your diagnosis of congestive heart
21 failure as of the 27th, isn't that true?

22 A. I don't know what you mean by improved.

23 Q. What does improve mean to you?

24 A. Well, it could mean any number of things. I mean,
25 if you're asking me did she demonstrate certain

1 clinical signs or symptoms that would have
2 indicated an improvement, I don't know what signs or
3 symptoms you're talking about. The point is she
4 Continued to be short of breath. She continued to
5 sit in her bed upright. She continued to want
6 oxygen. She continued to have episodes of
7 confusion, erratic breathing, and all of this would
8 suggest to me that the situation was not improving.

9 Q. But if in fact it was the opinion of those people
10 who were taking care of her that she did show -- she
11 did indeed show some improvement, that would be
12 Inconsistent with your diagnosis of congestive heart
13 failure as of the 27th, isn't that true?

14 A. If indeed they believed that, I believe they would
15 have been incorrect in reading the records.

16 Q. I understand that, but my question is that would be
17 inconsistent with your diagnosis, correct?

18 MR. MISHKIND: Are you talking about
19 the 27th or 28th?

20 Q. (BY MR. SKIVER) If from the 27th on she showed
21 improvement in the minds of the people who were
22 taking care of her, that would be Inconsistent with
23 your diagnosis of congestive heart failure starting
24 on the 27th?

25 A. If in fact those opinions were correct, then you're

1 absolutely right. I would have been incorrect.

2 Q. All right. In terms of treatment of congestive
3 heart failure, what is the standard of treatment,
4 Doctor?

5 A. That's a very wide question, a very general
6 question. If you would like to be a little bit more
7 specific, I will try to answer it.

8 Q. What are you having problems with?

9 A. Congestive heart failure is just one part of many
10 other kinds of problems. Is it due to rhythm
11 abnormality? Is it due to LV dysfunction? Is it
12 due to valvular disease? It is due to fluid
13 overload? Is due to failure to take medications?
14 Is it due to salt abuse? It depends entirely on
15 what the diagnosis is how you would go ahead and
16 treat.

17 Q. In Mrs. Strong's case, what do you believe her
18 congestive heart failure was due to?

19 A. Fluid overload.

20 Q. Therefore, in Mrs. Strong's case, what would you
21 have done?

22 A. I would have put a Swan-Ganz catheter in her so I
23 would have known exactly where she was with respect
24 to the hemodynamic parameters so I would have known
25 precisely what to do for her, whether or not she

1 needed some volume diuretics, afterload reduction,
2 whether she needed inotropic support. I would have
3 known exactly what to do for the lady based upon the
4 hemodynamic profile.

5 Q. Did you review her I & O?

6 A. I have reviewed some of the numbers some time ago,
7 but I don't have the numbers with me.

8 Q. How much do you allow for insensible loss for a
9 patient of her age and activities?

10 A. I think the general rule of thumb is probably in the
11 nature of 5 to 600 cc's in 24 hours.

12 Q. Can you site me to anything for that?

13 A. I'm sure it's in the standard medical text. I
14 haven't looked at them for that aspect for years. I
15 think it's a number that most physicians use as an
16 estimate of insensible loss.

17 Q. If textbooks on critical care medicine were to
18 indicate Insensible loss of a patient is between 800
19 and 1,200 cc's a day, would you agree with that?

20 MR. MISHKIND: Objection.

21 A. It would be based upon the clinical situation.
22 There are certain situations where 600 would be
23 excessively low and appropriate situations where
24 2,400 would be on the top end. But that depends
25 on the clinical situation.

1 Q. (BY MR. SKIVER) Okay. By the way, what do you
2 believe was the cause -- Let me ask you this. Do
3 you believe the admitting diagnosis for Mrs. Strong
4 were correct?

5 A. In that she had electrolyte imbalance and
6 dehydration, yes, I do.

7 Q. What in your opinion causes electrolyte imbalance?

8 A. It appears to me she had some kind of flu-like
9 illness and basically became dehydrated. And what
10 usually happens in those situations is patients try
11 to rely on themselves by taking basically water
12 which produces electrolyte abnormalities.

13 Q. Do you have an opinion as to how dehydrated she was?

14 A. I would have had to been able to clinically examine
15 her at that point in time.

16 Q. There is no way for you to tell from the laboratory
17 tests that you have been provided with?

18 A. No precise way to be able to tell.

19 Q. Do you have any criticisms of the initial treatment
20 of her up until the 27th of November?

21 A. In retrospect, yes. In my own personal handling of
22 that situation, I think she should have been in the
23 Intensive Care Unit from the get *go*.

24 Q. Do you believe it was below the standard of care to
25 not put her in intensive care from the beginning?

1 A. That depends on the way in which that institution
2 operates. If It's the responsibility of the
3 emergency room physician to make that particular
4 decision, then I would have felt that it would have
5 been inappropriate for him not to admit her.

6 If the emergency lies with the attending
7 physician to make A, the decision to admit, and B,
8 where to go, then I would have said yes, it's
9 Inappropriate, particularly because of her past
10 history and the combination of dehydration and
11 electrolyte abnormalities of a 77 year old lady is
12 very difficult to treat.

13 Q. I might have gotten lost in all that. Let's cut to
14 the bottom. Are you basically saying you're opinion
15 is it was below the standard of care to not admit
16 her to the Intensive Care Unit from day one?

17 A. In my opinion, yes.

18 Q. Okay. For all the reasons that you just listed?

19 A. All the reasons I listed.

20 Q. Okay. How often do you treat patients with
21 electrolyte disturbance?

22 A. Routinely, frequently.

23 Q. How often have you treated patients with the type of
24 electrolyte disturbance that Mrs. Strong had?

25 A. I would probably see that kind of abnormality maybe

1 three, four times a year.

2 Q. And you do treat patients with electrolyte
3 disturbances on the regular floor under the
4 appropriate circumstances; is that correct?

5 A. Yes.

6 Q. Electrolyte disturbance in and of itself doesn't
7 require admission to the Intensive Care Unit, does
8 it?

9 A. No.

10 Q. What was the severity of her underlying coronary
11 artery disease?

12 A. Based upon the catheterization of the report from, I
13 believe, '89 from Dr. Shaffer in Lorain, she had
14 minor coronary disease involving the right coronary
15 artery. Her LV function, I believe, was acceptable.
16 And she also had a minor aortic valvular stenosis.

17 Q. Taking it all together, how would you categorize her
18 cardiac status based upon this information that she
19 had no significant major cardiac problems at least
20 as it relates to coronary disease or aortic valvular
21 disease? If a Swan-Ganz had been placed in her, do
22 you have any opinion as to what it would have shown?

23 A. It would have shown a pulmonary capillary wedge
24 pressure probably above 20. It would have shown an
25 elevated jugular venous pressure. It could very

1 well have shown a slow cardiac output and index.

2 And if an arterial line was included, It probably
3 would have shown elevated systemic vascular
4 resistance.

5 Q. At what point in time do you believe she developed
6 pulmonary edema?

7 A. There's a continuum from what people would call
8 congestive failure to ultimately what we call
9 fulminant pulmonary edema. It's a gradual
10 continuum, ultimately getting to the point where
11 patients are literally frothing at the mouth and
12 unable to even speak they're so short of breath.
13 They are sitting bold upright in bed, probably
14 mottle and blue. Their neck veins are engorged up
15 to their ears. That's pulmonary edema.

16 Q. At what point in time do you think she was in
17 pulmonary edema?

18 A. Immediately for the few hours prior to cardiac
19 arrest.

20 Q. What do you believe was the cause of her cardiac
21 arrest?

22 A. Are you asking me to speculate?

23 Q. Would it be speculation on your part as to what
24 caused her cardiac arrest?

25 A. She had cardiac pulmonary arrest. She basically

1 had failure of cardiac and respiratory systems. It
2 was probably a rhythm abnormality.

3 Q. Do you have an opinion as to what type of rhythm
4 abnormality?

5 A. She could very well have ventricular fibrillation.

6 Q. Is that to a reasonable degree of medical
7 probability?

8 A. I would put it at the top of the list.

9 Q. Okay. What caused the fibrillation In your opinion?

10 A. The combination of progressive congestive failure to
11 pulmonary edema. The fact that she had conduction
12 abnormalities on her resting to begin with. The
13 fact that she had documented electrolyte imbalance.
14 The fact that she was hypoxic as documented by blood
15 gases. The fact that she had coronary artery
16 disease and the fact she had to some degree aortic
17 valvular disease; that is a bad combination.

18 Q. Do you believe her cardiac arrest was preventable?

19 A. Yes.

20 Q. Why?

21 A. Because all of her problems were preventable. I
22 think had she been appropriately dealt with to begin
23 with in the Intensive Care Unit, they could have
24 monitored her more closely and ruled out other
25 possibilities. With the establishment of monitoring

1 capabilities, it would have allowed you to know
2 precisely where you are, how much fluid to give, how
3 much not to give, what other treatment modalities
4 you might have. Afterload reduction or even preload
5 reduction in this particular case would have allowed
6 you to more appropriately treat this combination of
7 problems.

8 Q. So in your opinion was --

9 A. Is the whole thing preventable, is that what you're
10 asking me?

11 Q. Yes. Is the cardiac arrhythmia preventable?

12 A. Yes, absolutely.

13 Q. Did she die a sudden death?

14 A. Well, her ultimate demise was the direct consequence
15 of cerebral hypoxic encephalopathy. Did she die a
16 sudden cardiac death on the 28th and was she
17 resuscitated? Yes. Most cardiologists today would
18 call that episode of sudden death from which she was
19 subsequently resuscitated.

20 Q. In your opinion sudden death is preventable?

21 A. It sure can be.

22 Q. To a probability is sudden death preventable?

23 A. Yes. That's what I am in the business for.

24 Q. How do you prevent sudden death?

25 A. By identifying the risk factors that would put

1 somebody at a higher risk for sudden death and
2 dealing with those risk factors.

3 Q. Isn't it true, Doctor, that despite identifying risk
4 factors and treating risk factors, that people still
5 die of a sudden death?

6 A. Yes, they do.

7 Q. And there are those patients who despite everything,
8 still have a cardiac arrest and die?

9 A. That population is becoming smaller and smaller.

10 Q. But there is a population like that?

11 A. Yes.

12 Q. And the people at greatest risk are those with
13 underlying coronary artery disease?

14 A. That's only one portion of the population.

15 Q. But those at greatest risk are those with underlying
16 coronary artery disease, correct?

17 A. I'm not so sure I'd necessarily agree with that.

18 Q. Have you done any publications, doctor?

19 A. Not as it relates to cardiology, no.

20 Q. What did it relate to?

21 A. I did some publications when I was in graduate
22 school related to immune functions and I did some
23 publications on malaria research.

24 Q. Okay. What are the recognized causes of raves?

25 A. The predominant two causes of rales are probably

1 pulmonary disease and congestive heart failure.

2 Those are the most two common ones.

3 Q. You can also see it in patients with underlying
4 atelectasis?

5 A. Under the coronary?

6 Q. Under pulmonary.

7 A. Yes.

8 Q. Okay. Do you believe she had unresolved angina
9 at any point in time?

10 A. I don't believe there is any documentation to
11 support that. Certainly it would not be expected
12 based upon her catheter results.

13 Q. Pain from herpes zoster can certainly cause a
14 patient to complain of shortness of breath due to
15 splinting, isn't that true?

16 A. It could happen, yes. But if it did that, I
17 wouldn't have expected it to produce rales and PND
18 orthopnea.

19 a. Certainly if atelectasis were present, secondary to
20 insufficient expansion of her lungs, that could lead
21 to rales, could it not?

22 A. It could, but again, if you're asking me would it be
23 associated with other --

24 Q. That is not what I said.

25 A. Then I would have to say it's underlying.

1 Q. That is not what I asked you. I said if atelectasis
2 were present, secondary to insufficient expansion
3 of her lungs, that could lead to rales, could it
4 not?

5 A. That's correct.

6 Q. Okay. How do you classify congestive heart failure?

7 A. Well, you can use the -- some people use what used
8 to be called Willis scale which is a combination of
9 where you utilize hemodynamics to classify patients
10 based upon their volume status and cardiac output
11 and you can essentially put them into four risk
12 categories depending on what their hemodynamic
13 status is to get some estimate on what their
14 morbidity/mortality might be.

15 Q. From a clinical standpoint, with hemodynamic
16 monitoring, can you classify patients into mild,
17 moderate, severe?

18 A. Yes, you can.

19 Q. How would you separate patients into mild, moderate,
20 and severe?

21 A. **As** it relates to this case?

22 Q. No. In general.

23 A. In general? I would basically use a New York heart
24 kind of classification as to how active a patient
25 is, what they do, what level they may have symptoms,

1 basically what funneled category they're in would
2 give you a clue as to mild, moderate and severe
3 pulmonary edema.

4 Q. What would be the break out? ER classification
5 what?

6 A. One.

7 Q. Would there be any specific physical findings that
8 you would expect to find in a patient with mild
9 congestive heart failure?

10 A. Other than that they would complain about rhythm
11 restriction and how much they're capable of doing,
12 how fast they can go about doing things, whether
13 it's a walk to the grocery store, going **up** or down
14 stairs, or what have you. They may get a little
15 short of breath at night, a little puffiness of the
16 feet or ankles. Basically, it's a mild restriction,
17 but a distinct and noticeable reduction in their
18 function capabilities.

19 Q. All right. How about moderate, what would you
20 classify as moderate?

21 A. They would be more severe than what I just listed.
22 Basically the same kind of things, but more severe,
23 more restriction on how much they could do. They
24 are a little more short of breath. More PND
25 orthopnea, more swelling of the feet or ankles.

1 They have to take a lot more time in doing the
2 things that they're capable of doing.

3 Q. Would you expect to see them showing sweat or
4 being cold and clammy?

5 A. Particularly under stressful situations of physical
6 activity. For example, under temperature extremes,
7 particularly higher temperatures, perspiration and
8 sweating is an indication there's a catecholamine
9 release that's trying to drive something. It would
10 be an indication that these patients are starting to
11 get into more than a mild failure.

12 Q. So you would expect to see that in a patient who has
13 severe congestive heart failure?

14 A. Not necessarily, but it's with a combination. You
15 said moderate, I thought.

16 Q. Well, because you said you would expect to see that
17 in severe --

18 A. Severe, yes. Then they are much more sensitive to
19 the stress involved, and you begin to see things
20 like profuse diaphoresis with the expectation of
21 more tachycardia with it. You may hear more in the
22 way of gallops and rales in the lungs, yes.

23 Q. That would be one way to break out moderate to
24 severe with congestive heart failure?

25 A. Yes. That would be one way, yes.

1 Q. What in your opinion happened to her on the 28th in
2 terms of the sequence just prior to her arrest?

3 A. I think she was in progressive fulminate pulmonary
4 edema. These patients can't breath. They are
5 tachypneic. They usually sit bold upright in bed.
6 They're so tachypneic they maintain they can't even
7 speak. Until you see one, you can't imagine how bad
8 it is for patients to actually be this tachypneic.
9 They may be mottle. They may have white or even
10 blue extremities.

11 Q. All right. So you felt that she was in this
12 progression and she went on and developed a severe
13 arrhythmia?

14 A. Severe arrest, yes.

15 Q. All right. You based that upon the records that
16 you've reviewed?

17 A. Absolutely, and also on the most likely scenario as
18 to what transpired.

19 Q. How long does it take fulminate pulmonary edema like
20 you've described to develop?

21 A. It can be anywhere from two minutes to several hours
22 or days depending on the clinical circumstances.

23 Q. How long do you think she had fulminate pulmonary
24 edema?

25 A. It was certainly there during the 28th, on the day

1 of the 28th.

2 Q. So throughout the 28th?

3 A. I think the documentation on the x-ray reports, I
4 believe, said pulmonary edema, if I'm not mistaken.
5 Yes. 11/28/91, 6:30 p.m. Chest impression,
6 pulmonary edema.

7 Q. So it's your opinion that throughout the 28th she
8 had fulminant pulmonary edema?

9 A. She certainly had it as of 6:30 p.m. based upon the
10 chest x-ray.

11 Q. Do you know how long during the 27th she had --

12 A. As I said to you before, I think there was a
13 progression from the 27th through to fulminant
14 pulmonary edema as of 6:30 on the 28th, so it's a
15 continuum.

16 Q. All right. A chronic worsening, if you will?

17 A. Yeah. Yeah.

18 Q. Was there any evidence that Mrs. Strong had a
19 myocardial infarction?

20 A. I did not see any evidence to that effect, no.

21 Q. Now, you earlier testified, I think it was probably
22 in your letter, that Mrs. Strong had risk factors
23 for congestive heart failure. What were her risk
24 factors again?

25 A. She did have documented coronary disease, although

1 it wasn't major. She did have a history of
2 hypertension. She did have a history of aortic
3 valvular disease, albeit, minor. She did have a
4 history of hypertension. That's important, because
5 to see somebody with this status go downhill this
6 quickly, I think she probably had some end-diastolic
7 dysfunction which would have put her at major risk
8 for volume replacement in this situation.

9 Q. But there was no evidence of diastolic dysfunction
10 in her cardiac catheterization earlier, correct?

11 A. The cardiac catheterization wouldn't find that.

12 Q. The ventriculogram would also not have shown any
13 evidence of a diastolic dysfunction, correct?

14 A. No.

15 Q. Do you fault Dr. Winland for not being able to place
16 a Swan-Ganz in this patient?

17 A. From the record, he had some difficulty and
18 eventually never did get it put in, I believe, but I
19 think by then, the horse was out of the barn.

20 Q. Well, the question though is do you fault him for
21 not being able to place it?

22 A. I don't know what his capabilities are or are not. I
23 don't have knowledge of that.

24 Q. Have you ever had a patient that you were ever not
25 able to place a Swan-Ganz in?

1 A. Occasionally.

2 Q. You indicated in your letter that you felt that Mrs.
3 Strong's life expectancy was at least five years.
4 How did you arrive at that number?

5 A. Personal experience, and I think I'm being very
6 conservative in that regard.

7 Q. Personal experience of what?

8 A. In my practice of dealing with older patients and
9 heart disease in general. The reason I say that is
10 based upon the catheterization in '89. If you would
11 use life table statistics for that age and sex, she
12 would probably have approximately ten years life
13 expectancy from 1989.

14 Q. That, of course, doesn't factor in what diseases she
15 had, did it?

16 A. That's all-comers.

17 Q. That doesn't factor in whatever disease processes,
18 does it?

19 A. Those statistics include all-comers.

20 Q. Are you saying, Doctor, that if someone has cancer,
21 that they have a ten year life survival based upon
22 the mortality table?

23 A. What they are saying is if you take all-comers at
24 that age and that sex, that their life expectancy
25 at that age, the overall age, is 10.

1 Q. That doesn't allow for specific diseases, does it?

2 A. It includes all-comers.

3 Q. Do you know how they arrived at the mortality
4 tables?

5 A. Yes, I do.

6 Q. Are you saying then that a patient, once they have a
7 specific disease, can still go back to the mortality
8 table?

9 A. I didn't say that. That's why I said in this
10 particular case where she had a minor degree of
11 coronary disease and she had aortic valvular disease,
12 relatively minor, and she also had a history of
13 diabetes mellitus, I was being more conservative
14 in saying her life expectancy would be about five
15 years.

16 Q. What you're saying is you can't use the life
17 expectancy table, isn't that true?

18 A. You can use them to get a general impression of what
19 the life expectancy would be for somebody that age,
20 and then you can modify from your own experience
21 based upon your own clinical practices as to what
22 may reduce that under certain circumstances.

23 Q. Did she have any underlying neurological problems?

24 A. Not to my knowledge.

25 Q. Did she have any underlying paravalvular disease

1 other than coronary artery disease?

2 A. It's reported in one of the charts that she had
3 clots occasionally, but I did not see any
4 documentation of any testing to confirm that.

5 Q. The presence of peripheral edema certainly would
6 alter your diagnosis for her life expectancy, would
7 it not?

8 A. No. It's part of a nuance in that it's life
9 limiting.

10 Q. How about the presence of carotid artery disease?

11 A. Only if it's significant.

12 Q. Do you know whether she had significant carotid
13 artery disease?

14 A. I don't believe she did. She had some DSA reports
15 that she had done way back in '84, I believe. Dr.
16 Shaffer indicated that she had some minor carotid
17 artery disease in his report.

18 Q. Is it the natural history of diseases to progress?

19 A. It's the natural history of everything to progress,
20 yes.

21 Q. **As** to what it was at the time of her death in 1991,
22 you have no way of knowing?

23 A. That's correct.

24 Q. We're getting there. When did you first get this
25 case to review, doctor?

1 A. I don't know.

2 Q. Do you recall when you first --

3 THE WITNESS: Was it after I did that
4 case for Michael?

5 MS. TOSTI: Let me see if I've got
6 some notes that show it.

7 A. I would have to think that it was sometime in the
8 late spring of '93 because my correspondence to you
9 is November 1993, and I was away all of July and the
10 first week of August in that year, so --

11 Q. (BY MR. SKIVER) Now, you've previously testified
12 for Mr. Becker's office; is that correct?

13 A. No. I was on the other side.

14 Q. Okay. Have you reviewed any cases for Mr. Beckers'
15 before?

16 A. I don't believe I have. No. This is the first one.

17 Q. How many times have you testified in medical
18 malpractice cases?

19 A. I have reviewed approximately anywhere from 6 to 10
20 to 12 cases a year, and I have done that since about
21 1983.

22 Q. What percentage of that is for the plaintiff and
23 what percentage is for the defendant?

24 A. It's probably 60/40. 60 being defendant, and 40
25 being plaintiff.

1 Q. Okay. How many times have you testified in trial?

2 A. About three. Three and one arbitration.

3 Q. Okay. What do you charge, Doctor, to review?

4 A. It's usually \$200 an hour.

5 Q. Deposition?

6 A. Same.

7 Q. Trial?

8 A. Same.

9 Q. You're familiar with the CASS study, aren't you?

10 A. Yes.

11 Q. Do you agree with the findings of the CASS study?

12 A. Not entirely.

13 Q. What do you disagree with?

14 A. My objections are basically the same as those that
15 have been authored by Robert -- I'll give you a
16 copy, if you want.

17 Q. Copy of what?

18 A. This is the article, The Coronary Artery Surgery
19 Study, **CASS**. Do the Results Apply to the Patient?
20 There's some concern as to whether or not the CASS
21 study can be applied to particular patients who are
22 unstable.

23 Q. In what way?

24 A. The CASS study basically relates to patients who are
25 relatively stable from a cardiac standpoint in that

1 their signs and symptoms have been relatively
2 chronic and they're not showing any evidence of
3 acute or sudden deterioration.

4 Q. And what is it that you believe?

5 A. I believe the CASS study, if you look at the
6 randomization and the registry, basically tells you
7 the life expectancy of patients who have chronic
8 stable coronary disease as opposed to those who have
9 acute unstable coronary disease. In the latter
10 group, I don't believe the CASS study is correct.

11 Q. All right. Do you feel that you can say anything
12 about that latter group and the CASS study?

13 A. I know they're essentially worse than the prediction
14 in the CASS study.

15 Q. Any particular journals or books that you would go
16 to to determine information regarding congestive
17 heart failure?

18 MR. MISHKIND: Objection.

19 A. I'm sorry? What was that? Repeat that.

20 MR. SKIVER: I'll let her do that.

21 (Question read by reporter.)

22 MR. MISHKIND: Objection. You can
23 answer the question.

24 A. I think the bibles of cardiology are William Hurst
25 book and Braunwald's text on heart disease, but for

1 really updated information, you need to look at
2 journals like the journal of the American College of
3 Cardiology and the American Heart Journal.

4 Q. (BY MR. SKIVER) Okay. Other than the fact that
5 this patient was complaining of shortness of breath,
6 was there anything else that **was** particularly
7 ominous to you in her history on the 27th or the
8 28th that would lead you to believe that she was in
9 imminent danger of having an arrest?

10 A. As I said earlier, the constellation of somebody who
11 has hypertension, probably diastolic dysfunction,
12 aortic valvular disease, minor coronary disease, who
13 comes in with a combination of electrolyte imbalance
14 and dehydration, are notoriously difficult to treat.
15 And that's why it's my opinion that she should have
16 been placed in the Intensive Care Unit right away so
17 they would have been able to monitor things
18 appropriately and tell when they are getting into
19 trouble in order to make the appropriate adjustments
20 in the treatment.

21 Q. I know that, but my question was is there anything
22 specific in the history on the 27th?

23 A. That's why I say the whole constellation of things,
24 not one thing.

25 Q. My question is on the 27th -- just listen to the

1 question. I understand what you're saying. I
2 understand where you're coming from. **My** question is
3 on the 27th until the time she had her arrest, was
4 there any specific symptoms that she was having that
5 to you was ominous?

6 **A.** Any single symptom?

7 **Q.** Yes.

8 **A.** Not any single symptom, no.

9 **Q.** All right. What were the constellation of symptoms
10 that were ominous to you?

11 **A.** The continuing problems with shortness of breath,
12 the complaints she can't breath. The periods of
13 confusion and agitation. The fact that she
14 ultimately got to the point where she was sitting
15 bold upright in bed. That whole sequence tells you,
16 and if you read the nurses' reports, you will find
17 out that these complaints became more frequent, more
18 progressive, ultimately, getting to the point where
19 prior to her arrest she was sitting bold upright in
20 bed unable to breath.

21 **Q.** Okay.


22 **MR. SKIVER:** That's all the questions
23 I have. Thanks.

24 **MR. MISHKIND:** Okay. The doctor will
25 read the deposition.

1 THE STATE OF OHIO,)
2) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

3 I, Faye M. Farley, a Notary Public within
4 and for the State of Ohio, duly commissioned and
5 qualified, do hereby certify that ALFRED GEORGE
6 ERNEST KITCHEN, M.D. was by me, before the giving of
7 his deposition, first duly sworn to testify the truth,
8 the whole truth and nothing but the truth, that the
9 deposition as above set forth was reduced to writing
10 by me by means of Stenotype and was subsequently
11 transcribed into typewriting by means of computer-aided
12 transcription under my direction; that said deposition
13 was taken at the time and place aforesaid pursuant to
14 notice and agreement of counsel; that the reading and
15 signing of the deposition by the witness were expressly
16 waived; and that I am not a relative or attorney of
17 either party or otherwise Interested in the event of
18 this action.

19 IN WITNESS WHEREOF, I hereunto set my hand
20 and seal of office at Cleveland, Ohio, this 28th day of
21 June, 1994.

22 
23 Faye M. Farley, Notary Public
24 Within and for the State of Ohio
848 Terminal Tower
Cleveland, Ohio 44113

25 My Commission Expires: July 16, 1996.

I have read the foregoing transcript of my deposition
taken on Tuesday, June 14, 1994 from page 1 to page 48
and note the following corrections:

PAGE:	LINE:	CORRECTION:	REASON:
14	14	△ THROUGH	to WITHOUT
22	16	△ I	to SHE
30	1	△ SLOW	to LOW
throughout		△ Bold	to BOLD.

DATE

8/24/94

ALFRED KITCHEN, M.D.