

9
THE STATE of OHIO, :
: SS:
COUNTY of CUYAHOGA.:

Doc. 236

IN THE COURT OF COMMON PLEAS

LESTER WEITZEL, executor of the :
ESTATE of SHARON WEITZEL, deceased, :
and LESTER WEITZEL, :
plaintiffs, :

vs.

: Case No. 226946

SAINT VINCENT CHARITY :
HOSPITAL, et al., :
defendants. :

Deposition of ALFRED KITCHEN, M.D., a
defendant herein, called by the plaintiffs for the
purpose of cross-examination pursuant to the Ohio
Rules of Civil Procedure, taken before
Frank P. Versagi, a Registered Professional Reporter,
a Certified Legal Video Specialist, a Notary Public
within and for the State of Ohio, at Saint Vincent
Charity Hospital, 2351 East 22nd Street, Cleveland,
Ohio, on Tuesday, the 8th day of September, 1992,
commencing at 2:25 p.m., pursuant to notice.



FLOWERS & VERSAGI

COURT REPORT ~ ~ §

Computerized Transcription

Computerized Litigation Support

THE 113 SAINT CLAIR BUILDING - SUITE 505

CLEVELAND, OHIO 44114-1273

(216) 771-8018

1-800-837-DEPO

APPEARANCES:

ON BEHALF -OF- THE- PLAINTIFFS:-

Charles Kampinski, Esq.

Christopher M. Mellino, Esq.

Charles Kampinski Co., L.P.A.

1530 Standard Building

Cleveland, Ohio 44113.

and

David J. Elk, Esq.

Elk and Elk

Chagrin Richmond Plaza

25550 Chagrin Boulevard - Suite 204

Beachwood, Ohio 44122.

- - - - -

~~ON-BEHALF -OF- THE- DEFENDANT- SAINT- VINCENT~~

~~CHARITY- HOSPITAL:-~~

William J. Coyne, Esq.

Standard Building

Cleveland, Ohio 44113.

- - - - -

1 APPEARANCES: (continued)

2
3 ON BEHALF OF THE DEFENDANTS DRS. ROLLINS,

4 KITCHEN, STEELE, KHADDAM:

5
6 John V. Jackson, 11, Esq.

7 Jacobson, Maynard, Tusehman & Kalur

8 1001 Lakeside Avenue

9 Cleveland, Ohio 44114.

3.0 - - - - -

11 ON BEHALF OF THE DEFENDANT DR. VARMA:

12
13 Burt J. Fulton, Esq.

14 Lynn L. Moore, Esq.

15 Gallagher, Sharp, Fulton & Norman

16 Sixth Floor - Bulkley Building

17 Cleveland, Ohio 44115.

18 - - - - -

19 ON BEHALF OF THE DEFENDANTS RADIOLOGY CONSULTANTS,

20 INC. and DRS. J. PORTER, SMITH, WIRTZ:

21
22 Robert D. Warner, Esq.

23 Reminger & Reminger

24 The 113 Saint Clair Building

25 Cleveland, Ohio 44114-1273.

1 APPEARANCES: (continued)

2
3 ON BEHALF OF DEFENDANTS CENTRAL ANESTHESIA of
4 CLEVELAND, INC. and DRS. SOPKO, MOASIS, STEFFEE:

5
6 Robert C. Seibel, Esq.

7 Jacobson, Maynard, Tuschman & Kalur

8 1001 Lakeside Avenue

9 Cleveland, Ohio 44114.

10 - - - - -

11 ON BEHALF OF DEFENDANTS DRS. MAGIERA,
12 CHMIELEWSKI & VAIDYA:

13
14 David Matia, Jr., Esq.

15 Jacobson, Maynard, Tuschman & Kalur

16 1001 Lakeside Avenue

17 Cleveland, Ohio 44114.

18 - - - - -

19 ~~ON BEHALF OF DEFENDANT CLEVELAND CLINIC FOUNDATION~~

20
21 Ronald S. Okada, Esq.

22 Baker & Hostetler

23 3200 National City Center

24 Cleveland, Ohio 44114.

25 - - - - -

~~I-N-D-E-X~~

WITNESS:

ALFRED KITCHEN, M.D.

Page

Cross-examination by Mr. Kampinski	7
Cross-examination by Mis Moore	44
Recross-examination by Mr. Kampinski	48

- - - - -

(NO EXHIBITS MARKED)

- - - - -

INDEX of OBJECTIONS

BY MR. JACKSON: PAGE/LINE

27/21

28/3

39/21

40/15

43/6

- - - - -

BY MR. WARNER: PAGE/LINE

38/13

39/20

39/25

- - - - -

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALFRED KITCHEN, M.D.

of lawful age, a defendant herein, called by the
plaintiffs for the purpose of cross-examination
pursuant to the Ohio Rules of Civil Procedure, being
first duly sworn, as hereinafter certified, was
examined and testified as follows:

- - - - -

CROSS-EXAMINATION

BY MR. KAMPINSKI:

Q. Doctor, would you state your full name, please?

A. Alfred George Ernest Kitchen.

Q. State your residence address, if you would, sir?

A. 32312 Acacia Court, Avon lake.

Q. I've been handed your CV. You are currently
employed where?

A. I am self-employed.

Q. Are you with a group?

A. We have an association of cardiologists but
we're not formally as a group together.

Q. When you say self-employed, are you
self-employed as an employee of a corporation?

A. My own corporation.

Q. And the name of your corporation is what?

A. AGK, M.D., Inc. Alfred George Kitchen, M.D.,
Inc.

1 Q. And your corporate address is what?

2 A. 2322 East 22nd Street, Suite 303, Cleveland.

3 Q. Who else is employed by your corporation in a
4 physician capacity?

5 A. No one.

6 Q. Who else is a shareholder of the corporation?

7 A. No one.

8 Q. Other than --

9 A. Other than myself.

10 Q. How long has that corporation been in existence?

11 A. 1978.

12 Q. Have you been the shareholder since that time?

13 A. Yes.

14 Q. Sole shareholder?

15 A. That's correct.

16 Q. Have there been other employees of the
17 corporation other than yourself since that time?

18 A. For the first I'd say eight years the office
19 secretarial staff were employed by --

20 Q. How about other physicians?

21 A. No other physician.

22 Q. Since its inception?

23 A. That's correct.

24 Q. When you said you're associated with other
25 cardiologists, explain that to me.

1 A. We all share in the operating expenses of the
2 office and the personnel. The personnel in the office
3 are technically employed by Cleveland Cardiology
4 Associates, which is basically an umbrella group that
5 the three associates are proprietors of Cleveland
6 Cardiology Associates, and the office personnel are
7 employed through that corporation.

8 Q. Cleveland Cardiology Associates, is that a
9 corporation?

10 A. Yes.

11 Q. Are you a shareholder of that --

12 A. Correct.

13 Q. -- corporation?

14 A. Yes.

15 Q. Where is its business address?

16 A. Same address.

17 Q. 2322 East 22nd, Suit 303?

18 A. Yes.

19 Q. Who else is a shareholder of that corporation?

20 A. Dr. Robert Steele, and Dr. Michael Rollins.

21 Q. Do each of them have their own corporation that
22 employs them?

23 A. Steele does, Rollins does not.

24 Q. And who is he employed by, do you know?

25 A. In this day and age it doesn't -- it's no

1 benefit to incorporate as a physician any longer.

2 Rollins came along, he just never bothered to
3 incorporate.

4 Q. The purpose of Cleveland Cardiology Associates,
5 Inc. is what?

6 A. Basically to operate the office.

7 Q. Do you have an employment contract with them?

8 A. No.

9 Q. Do you have an employment contract with AGK,
10 M.D., Inc.?

11 A. I hope I do. Not that I know if there is one on
12 paper.

13 Q. When you bill out for your professional
14 services, under which corporation are patients billed?

15 a. AGK, M.D.

16 Q. Do you have a separate billing as relates to
17 Sharon Weitzel?

18 A. I am not aware of any billing from my office for
19 her.

20 Q. Do you know if there were any billings from
21 Cleveland Cardiology Associates, Inc. for her?

22 A. I would have no knowledge of that. I would
23 assume that there was, but I have no knowledge of it.

24 Q. Would such a billing include any services that
25 you would have provided?

1 A. No.

2 Q. Did you provide any services for Mrs. Weitzel?

3 A. Yes.

4 Q. When?

5 A. I was on call physician the weekend of I believe
6 March 8th and 9th.

7 Q. When you say "On call," on call between the
8 three of you?

9 A. Yes.

10 Q. Dr. Steele, Dr. Rollins, and yourself?

11 A. Yes.

12 Q. Do you rotate weekends?

13 A. We rotate weekly.

14 Q. Weekly?

15 A. Yes, which means we would cover the -- from the
16 Monday through to the following Sunday, would be my
17 responsibilities to cover for the other two nights and
18 weekends.

19 Q. So you would have been on call then at other
20 times that she was in the hospital?

21 A. I can't specifically tell you the answer to that
22 yes or no, because we frequently rotate and change
23 schedules depending on personal trips, a family
24 situation, things like that. So for me to tell you
25 that I was on call at other times when she was in the

1 hospital, I don't recall that I was. I may have been,
2 I may have not been. I don't recall.

3 Q. Is the reason that you recall the 8th or 9th
4 because you reviewed the charts and there's some
5 mention of your involvement?

6 A. I reviewed as it relates to the notes
7 specifically that I made on the -- I believe it was
8 the 9th, Saturday morning.

9 a. Are you saying you don't have any independent
10 recollection of any other contact with her?

11 A. Not to my knowledge.

12 Q. Were you at home or at the hospital when you
13 were called regarding Mrs. Weitzel?

14 A. I was in the coronary care unit at Charity
15 Hospital.

16 Q. What happened?

17 A. I was advised that a problem had been detected
18 on the Friday evening before. I then reviewed the
19 information in the chart as it relates to that
20 particular incident. I reviewed the x-rays that were
21 accumulated in the unit, and I called Dr. Rollins at
22 home to discuss with him the circumstance that he
23 noted the evening before.

24 Q. Doctor, this is the original chart, is this the
25 note you are referring to, sir?

1 A. That's correct.

2 MR. KAMPINSKI: Do you have a copy
3 of that that he can look at, Mr. Jackson?

4 MR. JACKSON: No, I don't.

5 Q. When is the last time you reviewed this before
6 coming here today?

7 A. Yeah.

8 Q. When is the last time?

9 A. Today

10 Q. Ten minutes ago or --

11 A. Half hour, 45 minutes ago.

12 Q. So you're pretty familiar with this note?

13 A. Yes

14 MR. JACKSON: The other one is
15 marked up, that's why I said I don't have it.

16 Q. You can look at the original. Why don't you
17 read it for me first.

18 A. It's dated 3-9, it says "Kitchen/Rollins,
19 reviewed x-rays, guide wire prolapsed on itself from
20 left iliac up to base of neck, question mark, i.c.,
21 which is internal carotid, or vertebral apparently
22 being in place approximately ten days, wire snapped in
23 two in neck, Dr. Rollins aware yesterday; because of
24 patient condition decision not to attempt removal at
25 this time. Will discuss again with him today,

1 discussed options with Dr. Khaddam. "

2 Q. What led you to believe that, first of all, the
3 guide wire prolapsed on itself?

4 A. When I looked at the x-rays it appeared that the
5 guide wire went up and back down, kind of a hairpin
6 configuration?

7 Q. Did you then conclude that it was one guide
8 wire?

9 A. It was my impression that there -- the
10 possibilities that existed included a number of
11 things, it was my interpretation looking at that, that
12 the wire had snapped in two, this was in two portions.
13 That it -- it was one wire that was snapped in two is
14 the impression that I had looking at the x-rays.

15 Q. Did you ever change that belief?

16 A. I really had no other information on which to go
17 beyond that impression at that time.

18 Q. No. I mean did you ever subsequently learn
19 anything to the contrary?

20 A. Only indirectly I heard that one wire was
21 removed in the cardiac lab, and I understood that a
22 second was removed in the operating room, but I was
23 not present at either of those procedures.

24 Q. So at least from what you can view on x-ray, the
25 possibility that it was one wire that had snapped in

1 two was something that you felt existed?

2 A. That was my impression at the time, yes. Yes.

3 Q. When you say wire snapped in two in neck, what
4 led you to believe that?

5 A. Well --

6 Q. Is that Just saying the same thing?

7 a. Basically saying the same thing, it snapped in
8 the place where the wire happened to be, snapped in
9 the location is where the catheters were in the -- or
10 the wires were in the neck.

11 Q. And you determined this from reviewing the
12 x-rays?

13 A. Yes.

14 Q. Did you review them with anybody?

15 A. I believe one or two of the residents were there
16 at the time, but I can't recall who they were.

17 Q. Did you review it with anybody from the
18 radiology department?

19 A. No.

20 Q. You mentioned that Dr. Rollins had become aware
21 of this you said the Friday before, did you mean the
22 day before or --

23 A. Yes, the day before.

24 Q. Was this a Saturday?

25 A. That's correct.

1 Q. Had he told you about this before you came in?

2 a. No. I learned of it after I came into the unit.

3 Q. When was that?

4 A. I would say 10:00, ten o'clock Saturday morning.

5 Q. And that was because you were going to be on
6 call that weekend?

7 A. I was covering, and what we routinely do is to
8 review all of the cases that are in the unit with the
9 residents and the nursing personnel, and this one was
10 on top of the list.

11 Q. So it was a resident or a nurse that told you
12 about the existence of the wire?

13 A. I don't recall who specifically told me, whether
14 it was a nurse or whether it was one of the residents.

15 Q. What were you told?

16 A. That there was a problem that had been
17 identified on the Friday, and that they thought that
18 there was a wire in the patient,

19 Q. Do you know if the resident was Dr. Varma or
20 not?

21 A. I know he was on the service at the time. Who
22 was -- else was there, who else on the service, I
23 don't recall at that point.

24 Q. Do you recall having any discussions with him
25 that day?

1 A. No, I don't.

2 Q. Did you have any discussions with Dr. Rollins
3 prior to looking at the x-rays or familiarizing
4 yourself with the chart?

5 A. No. I did that first and then contacted him to
6 learn what he thought of the situation, and to see
7 whether or not it concurred with my evaluation and
8 decision about what to do or what not to do.

9 Q. First of all, your evaluation of the situation
10 and what you wanted to do and what you talked about
11 with Dr. Rollins we'll discuss in a minute, but based
12 upon your review of the x-rays in the chart, what was
13 your evaluation of the situation?

14 A. There appeared to be either two wires or one
15 wire broken in two, that was somewhere in the vascular
16 tree in this lady that appeared to go from the left
17 iliac up to the -- or the left internal carotid or the
18 vertebral on the left side. It was my impression that
19 the wire may have been snapped in two. They're were
20 two portions of it.

21 Q. That would be your assessment?

22 A. That was my assessment.

23 Q. What was your plan or what is it that you felt
24 ought to have been done?

25 A. Well, he did what I thought we ought to at that

1 point, nothing.

2 Q. Why was that your plan?

3 A. The evaluation of this lady, she was too
4 critically ill to undergo what procedure would be
5 necessary to remove these wires.

6 Q. When you say "Procedure necessary," what kind of
7 procedure are you talking about?

8 a. You would -- once you embark on a decision to do
9 something, you never know how far you are going to
10 have to go to get them out. There are techniques that
11 are relatively simple that might work; you have to
12 also be prepared to go so far as to call in a vascular
13 surgeon, depending upon what success you may have with
14 it or what other complications you might have with
15 that procedure.

16 In knowing that a major operative
17 procedure might be required, she was in no condition
18 to tolerate that.

19 Q. Why is that?

20 A. She had multiple system problems that were very
21 severe, complex. It had been noted in the chart I
22 believe two days prior to this that she was in no
23 condition to be transported anywhere for anything. So
24 it was my decision on that day after reviewing the
25 x-rays and the situation with Dr. Khaddam, who is a

1 vascular surgeon, that it was our opinion, his and
2 mine and Rollins, not to do anything on that
3 particular occasion.

4 Q. You jumped ahead to the possibility of a
5 vascular surgeon being involved to presumably remove
6 the guide wire, or wires, whichever the case might
7 have ultimately proven to be, are there less invasive
8 procedures that could be employed to remove the guide
9 wires?

10 A. Yes.

11 Q. Such as?

12 A. I had -- I used a technique that I designed
13 several years ago to rescue these things from wherever
14 they might be in the vascular bed, snare them, and
15 hopefully remove them.

16 Q. What technique is that?

17 A. Use a cardiac catheterization catheter, we make
18 a snare with a flexible guide wire, similar to what
19 was left in this lady, and try to get it in position
20 in close proximity to the guide wire, and actually
21 slide a snare over it, pull the snare tight, and
22 extract the guide wire.

23 Q. Had you employed that technique in the past?

24 A. Yes. Several times.

25 Q. To extricate guide wires?

1 A. Yes.

2 Q. How is it a guide wire needs extricating?

3 A. Well, variety of things: guide wires and
4 catheters unfortunately --

5 Q. Let's talk about guide wires.

6 A. They just unfortunately get loose in the system.
7 It can happen purely by accident, it can happen by
8 poor technique. There are things like that that
9 unfortunately can happen.

10 Q. When they happen, what do you have to do?

11 A. You got several decisions that you have to make
12 as it relates to the specific item, whether A, it
13 needs to be removed; B, if it does need to be removed,
14 what technique you have available to go get it
15 depending upon where it is, what the risks are, what
16 the complications are, and what the risk of the
17 complication might be for not going after it.

18 Q. How many occasions would you say that you have
19 encountered where guide wire as opposed to catheters
20 has gotten loose in the system?

21 A. Probably one or two. Not very many.

22 Q. And has it happened to you or a resident or --

23 A. It has not happened to me. I have been the one
24 to rescue it. It has not been me that lost it in the
25 first place.

1 Q. How is it that you were or became involved in
2 rescuing it?

3 Was it here at this hospital, first of
4 all?

5 A. Yes,

6 Q. How long ago?

7 A* Oh, the first occasion -- first occasion was
8 probably 1979.

9 Q. Here?

10 A. Yes.

11 Subsequently the last time was
12 probably five years ago.

13 Q. So twice we're talking about?

14 A. i think there was a third one in there too at
15 one time, It's not very common.

16 Q. All three have been here?

17 A. Yes.

18 Q. Did you ever publish anything about the system
19 for retrieving?

20 A. Tried to patent it but I couldn't get anybody to
21 do it.

22 Q. Who did the patent papers for you?

23 A. Company in California who were originally
24 considering to market it.

25 Q Do you still have those?

1 A. They felt that there wasn't sufficient volume or
2 usage to justify going ahead with it.

3 Q. Do you still have the papers?

4 A. I believe they're around somewhere.

5 Q. How is it that you were then contacted to remove
6 these guide wires; I mean, were you the attending?

7 A. I guess they thought I was the only one crazy
8 enough to try and know how to go about doing it back
9 in those days. Several other cardiologists were asked
10 but nobody wanted to try it, and I put -- designed the
11 system and it worked.

12 Q. In other words, you were able to extricate the
13 guide wires?

14 A. Yes.

15 Q. Where in this instance would you have attempted
16 the extrication from?

17 A. Probably from the left femoral artery.

18 Q. And the reason that you would have done that
19 would have been what?

20 A. Be because you have a direct shot to the left
21 iliac and you would have had -- you would be less
22 likely to have some of the potential complications in
23 removing it by going from that approach.

24 Q. On the other occasions that you were contacted
25 to try to, or to do this, and in fact did do it, were

1 you the attending in any of these situations?

2 A. No.

3 Q. If you lose a guide wire in the system, is that
4 something that you would know?

5 A. If I was doing the procedure?

6 Q. If anybody was?

7 A. You should know.

8 Q. Aren't you supposed to remove the guide wire
9 after you put the catheter in?

10 A. Yes.

11 Q. So that if you didn't remove the guide wire,
12 you'd know it was still in the person?

13 A. You should know.

14 Q. You indicated what you felt ought or ought not
15 to be done, you then talked to Dr. Rollins?

16 A. After I had reviewed the case I made a decision
17 for myself as to what I thought ought to be done, it
18 was at that point that I discussed it with
19 Dr. Khaddam, and that's when I called Rollins to see
20 if he felt the way I did on that morning.

21 Q. Dr. Khaddam was a vascular surgeon?

22 a. That's correct.

23 Q. Given that you had devised this system that did
24 not require a vascular surgeon, why is it that you had
25 a discussion with him?

1 A. Because of the potential complication that can
2 exist when you have a loose guide wire in the vascular
3 bed, there are certain complications that would have
4 required somebody with his expertise to get the guide
5 wire out, rather than things that I am capable of
6 doing.

7 Q. In other words, if surgical intervention would
8 have been necessary, it would have been by a surgeon?

9 A. A vascular surgeon, that's correct.

10 Q. So are you saying you would have needed one to
11 be standing by in the event you couldn't successfully
12 do the procedure?

13 A. That would have been a separate bridge to cross.
14 I was more concerned about the potential complication
15 that could exist while the catheter is still in place,
16 complication that might require surgical intervention
17 urgently in order to extricate them.

18 Q. Such as?

19 A. Well, these things can migrate. They can move
20 places and cause problems.

21 I€, for example, it had migrated up
22 the internal carotid, it could have created serious
23 problems with perfusion of brain. Same as if it
24 follows the vertebral arteries. So mobility or
25 movement of the guide wire is one issue.

1 They are thrombogenic and the
2 possibility of blood clots could form on the catheter
3 and then migrate, produce a stroke; and they could
4 also penetrate and perforate blood vessels and produce
5 significant bleeding. Those are all complications I
6 am not particularly comfortable in dealing with.
7 That's why you need a vascular surgeon to deal with
8 those kinds of things.

9 Q. Those complications could have arisen in the
10 attempted extrication or could have arisen just on
11 their own?

12 A. Both.

13 Q. So that's why you talked' to a vascular surgeon,
14 and what did Dr. Rhaddam tell you in terms of his
15 opinion?

16 A. It was based -- he was not asked to do anything
17 or asked to make a decision or anything. I just felt
18 it was necessary for he as a vascular surgeon on call
19 that weekend to be aware of the circumstance so that
20 if there was a problem, that if I called him at
21 two o'clock in the morning, I don't have to read him
22 75 pages worth of stuff. He would be aware of it.

23 Q. So you just made him aware of the situation in
24 the event he is needed?

25 A. That's correct.

1 Q. So there was nothing that he told you then that
2 caused you to change your opinion or to formulate your
3 opinion, you knew what you were dealing with, what you
4 wanted to do?

5 A. That's correct.

6 Q. Certainly the attempted removal of the wire or
7 wires at least by your own procedure did not involve a
8 surgeon, correct?

9 A. That's correct.

10 Q. In light of her condition, which you have told
11 us about a few minutes ago, was the danger one of
12 surgery as opposed to the attempt to remove it by your
13 procedure?

14 a. The issues at that point in time were one of she
15 would have had to be transported from a coronary care
16 unit to the cardiac catheterization laboratory. I'll
17 relate back to the comments that were in the chart
18 from two days before, this lady wasn't in a situation
19 where she can be transported anywhere, number one;
20 secondly --

21 Q. Excuse me. Could you point -- I'm looking at
22 the notes of Dr. Rollins of March the 8th.

23 a. I believe this is a note prior to that.

24 Q. Let's look at that then, that would have been
25 subsequent, "She's improving"?

1 A. "I think."

2 Q. "I think ABG's much better," down below under
3 number 3. She is "Stable and improving"; do you see
4 that?

5 A. You point that out to me, if you would.

6 Q. Yes.

7 A. Okay.

8 Q. So apparently she is getting better, right?

9 A. I agree with you from the standpoint of his
10 note, indicates that she was getting better, but if
11 you put in it context of how bad she was to begin
12 with, she still wasn't a rose at this point in time.

13 Q. So you were still concerned about being able to
14 move her to the cath lab to even do your procedure;
15 would that be a fair statement?

16 A. Absolutely.

17 Q. Is there not increased mortality statistically
18 for a patient undergoing any kind of surgical
19 procedure, surgery requiring general anesthetic
20 following myocardial infarction?

21 MR. JACKSON: Objection.

22 A. I am sorry. I don't understand that question.

23 Q. All right. Maybe I didn't state it sufficiently
24 to be understood.

25 Is there increased mortality for

1 patients undergoing surgery within a certain time
2 frame after having a heart attack?

3 MR. JACKSON: Objection again.
4 Go ahead, Doctor. Answer if you can.

5 A. I think in the standard concept of risk
6 involved for patients with coronary disease, the
7 general answer would be yes,

8 The specific answer may not be yes, it
9 may in fact be no, depending upon the type of surgery:
10 Example, coronary bypass surgery post myocardial
11 infarction may be of insufficient benefit.

12 Q. That's fair. I suppose I didn't mean to include
13 that.

14 I meant some unrelated surgery.

15 A. In general terms again, possibly: Specific
16 instance, if for example, the other condition that you
17 are talking about is potentially a life-threatening
18 situation, then the answer is obviously no.

19 Q. Have there been studies done with respect to
20 surgical mortality following M.I.?

21 A. Yes. And you are talking about elective
22 surgical procedures, and the answer again is -- the
23 specific answer is yes, that's correct.

24 Q. Was that one of the things that you were
25 considering then in terms of making your decision not

1 to possibly subject her to vascular surgery?

2 A. You always have to think a step or two ahead.
3 The technique that I would have used to try at least
4 initially was the example of the contraption I talked
5 about earlier. The potential for complication with
6 that procedure still exists: Example is, you're
7 putting a larger diameter catheter in the femoral
8 artery, there is always the possibility of lacerating
9 the blood vessel; even if you snare the guide wire,
10 you somehow have to get it out of the femoral
11 arteries, at which point you can lacerate the
12 arteries.

13 Point being is that in order to do
14 that procedure, you have to be prepared for all of the
15 potential complications, which may include vascular
16 surgery, major operative procedure.

17 a. I understand that. You said that before.

18 My question now is, I thought was:
19 With respect to doing or possibly having to do surgery
20 on this lady, was that one of the factors that caused
21 you to believe that, that no intervention should be
22 done at that time?

23 a. That's correct.

24 Q. You then called Dr. Rollins.

25 A. That's correct.

1 Q. And tell me what you and he discussed.

2 A. We discussed what the problem was as it relates
3 specifically to the guide wire, and whether or not he
4 felt or had any difference of opinion from what he had
5 indicated the evening before, as to whether or not
6 this patient should undergo a procedure to try to
7 remove these wires; and I indicated to him what my
8 thoughts were at that point, the fact that I discussed
9 this with Dr. Khaddam, and that I felt it was not
10 indicated to subject her to that type of procedure at
11 that time.

12 Q. Dr. Rollins concurred?

13 A. It wasn't so much a question of concurring,
14 because he wasn't there to evaluate what was going on
15 on the Saturday morning.

16 Q. Well, was he of the same opinion?

17 A. Me was of that opinion the night before. I
18 basically indicated nothing had significantly changed.

19 Q. Where was Dr. Steele?

20 a. Me was out of town. I don't recall where. It's
21 my understanding I think Dr. Rollins had been covering
22 for him the -- most of the previous week. I don't
23 recall specifically where he was.

24 Q. How many x-rays did you review when you looked
25 at these x-rays?

1 A. The specific number, I can't tell you, but I can
2 tell you that we looked at the x-rays back to a time
3 at which the wires were not present.

4 Q. You put in your note that they -- it had been in
5 place for ten days?

6 A. I said approximately ten days.

7 Q. Okay. And you made that determination as a
8 result of looking at the x-rays?

9 A. That's correct.

10 Q. Did you have to go down to the x-ray department
11 to get them?

12 A. I believe they were in the coronary care unit.

13 Q. Had they been brought up?

14 A. I believe so, yes.

15 Q. Do you know if Dr. Varma or Dr. Rollins had
16 brought them up specifically to --

17 A. I would have no knowledge who brought them up.

18 Q. Did you have any discussion with Dr. Varma as to
19 how this had occurred?

20 A. I believe the only person that I talked to, at
21 least as far as in the coronary care unit that day,
22 was the senior resident. I believe he was the only
23 one that I talked to in the unit.

24 Q. Did you ever talk to Dr. Varma about the guide
25 wires?

1 A. I don't know if I can even identify Dr. Varma.
2 I don't know if I've ever seen him.

3 Q. He was a resident that apparently was rotating
4 through your service from the Clinic?

5 A. I understand that.

6 Q. And you are saying that you didn't have any
7 involvement with him while he was doing his rotation?

8 A. Not to my knowledge, no. I don't recall that I
9 can even identify a picture of him.

10 Q. Let me ask you this, Doctor: I assume that you
11 did have some involvement with various residents as
12 they would rotate through your service?

13 A. Yes. But let me clarify that for you.

14 Q. Sure.

15 A. They don't rotate through my service. They
16 cover what is called a teaching service in coronary
17 care unit. I may or may not have a patient in the
18 coronary care unit who may or may not be a teaching
19 case, so my exposure would be only limited to those
20 cases that I had that were in the unit that were in --
21 which in fact were a teaching case.

22 Q. Was Mr. Weitzel a teaching case?

23 A. I don't know.

24 Yes, she would have had to be if she
25 would have been seen by the residents.

1 Q. What makes a person a teaching versus a
2 non-teaching case?

3 a. Certain services and certain physicians agree to
4 allow their patients to be on the teaching service,
5 which means being followed by the residents,

6 Q. So in this case since Dr. Steele was the
7 attending, he would have had to have agreed that
8 Mrs. Weitzel could be a teaching patient?

9 A. That's correct.

10 Q. And how do you as a physician determine who you
11 are going to allow to be a teaching patient?

12 A. I will have to say up front that the majority of
13 our patients that we admit to the coronary care unit
14 by our -- Steele, Rollins, and myself, are teaching
15 patients.

16 The ones that we don't make teaching
17 patients are cases that we don't expect to be in there
18 for more than a day or two, there is no significant
19 teaching value to the particular cases. We don't want
20 to overload the residents to just make them be
21 care takers.

22 Q. So you make a patient a teaching patient, that
23 automatically means that you are going to have
24 residents see that patient; is that correct?

25 a. You will have the residents who are in the

1 teaching program seeing the patients, that's correct.

2 Q. These residents, how often do they rotate
3 through the cardiology service?

4 A. Approximately every two months the junior
5 residents rotate. The seniors have a slightly
6 different schedule. They may be here a little bit
7 longer, up to three months. There's an overlap and a
8 continuity from change to change.

9 Q. Is it typical to allow the junior residents as
10 part of their responsibilities to insert catheters?

11 A. Only to the extent that they have documentation
12 of adequate training to do so.

13 Q. Wow do you determine that they have such
14 documentation?

15 a. It's my understanding that for all of the
16 residents that come over here, particularly from the
17 Cleveland Clinic, there is documentation provided to
18 the physician in charge of the education program to
19 document what they have been trained to do what they
20 have experience doing, and that that information is
21 communicated to the senior resident.

22 Q. So you're relying then on the documentation
23 being provided through the residency program?

24 A. That's the major source, yes.

25 The other source is any time we find

1 the residents change, they change every two months, if
2 a procedure is required, I make a point of asking the
3 senior, does he know how to do this, is he
4 experienced, does he have the, or she, have the
5 ability to do these kinds of procedures.

6 Q. Would you watch them do one before you allowed
7 them to do it on their own?

8 A. It depends upon the experience of the senior.
9 If the senior themselves has been here before, if they
10 demonstrated capability of doing these procedures, if
11 they demonstrated ability to teach these procedures,
12 then I wouldn't necessarily be present or instruct
13 them on how to do it.

14 Q. Who was the senior on February 26th, do you
15 know?

16 A. I don't know.

17 Q. Was it Dr. Jayne?

18 A. I would have no knowledge of verifying that.

19 Q. Do you know who she is?

20 A. Yeah.

21 Q. Was she an experienced resident?

22 A. I'd have to go back and recall what her training
23 and expertise was at that particular time. I can't
24 tell you today what her capabilities were back then.

25 Q. You already told me you wouldn't know Varma if

1 you saw him?

2 a. Right. I wouldn't know him if I saw him.

3 Q. You would have been responsible for the cardiac
4 care that Mrs. Weitzel received on the 9th and 10th,
5 that being the weekend?

6 A. That's correct.

7 Q. Do you have any other notes after the 9th?

8 A. Not to my knowledge. You asked earlier whether
9 I covered her at other points in time after that, I
10 don't specifically recall whether I did or not.

11 Q. Is this the only note then of yours in the
12 chart?

13 A. I have not looked through it to find out.

14 MR. JACKSON: I am not aware of
15 any others. Anybody else aware of any others?

16 MR. KAMPINSKI: I am not. He is
17 the one that was there,

18 MR. JACKSON: I understand you
19 got about six inches of charts, If there is a note
20 here that you're aware of, then point it out. We'll
21 address it.

22 MR. KAMPINSKI: I told you I'm
23 not.

24 MR. JACKSON: I am not aware
25 either.

1 A. No. I don't see any. That was my answer.

2 Q. What did you do the rest of the weekend as
3 related to her care, or can't you tell because there's
4 no note in there?

5 A. There isn't a note from me on the Sunday;
6 however, I know that I did review the situation with
7 the nursing personnel and reviewed her vital sheets
8 and other information, but there is no note from here
9 on the occasion.

10 Q. So did you do anything other than just observe
11 her over the weekend?

12 A. Other than to make a decision not to do anything
13 any differently as relates to wires.

14 Q. In other words, you continued any
15 decision-making process the following day?

16 A. Not to intervene^p yes.

17 Q. Were you involved at all in the decision-making
18 process by Dr. Steele to do surgery when he did it on
19 the 13th?

20 A. No. Only that I learned that he was going to
21 use the technique that I had shown him.

22 Q. When did you show him how to do that technique?

23 a. Years ago.

24 Q. Had he done it?

25 A. I believe so. I don't recall. It's not

1 particularly difficult. It's unique. It's not
2 particularly difficult.

3 Q. Did he ask you to assist him?

4 A. No.

5 Q. You're aware of the fact that he got one wire
6 and he didn't get another one?

7 A. I heard that.

8 Q. Did he contact you after the initial attempt to
9 ask you to assist him in trying to get the second one?

10 A. No.

11 Q. ~~Can radiologists also do this procedure, that is~~
12 ~~the removal of an item from the arterial system --~~

13 MR. WARNER: Objection.

14 Q. -- percutaneously?

15 A. I guess if they had some practice or experience
16 they might be able to.

17 Q. You do angiography?

18 A. Yes.

19 Q. They do too, don't they?

20 A. Yes, different kinds of angiography.

21 Q. I understand they don't do cardiac?

22 A. That's correct. And I don't do peripheral.

23 Q. How would you describe the attempt to remove the
24 guide wire, cardiac or peripheral?

25 A. It could be either.

1 Q. What equipment do you maintain in the cardiac
2 cath lab for this type of procedure? Maybe that's not
3 a fair question.

4 I suppose because of the fact that you
5 didn't patent it, this equipment isn't maintained at
6 all?

7 A. It is incredibly unique.

8 I think radiologists are not as
9 experienced as cardiologists in manipulating guide
10 wires or catheters, in getting them into places where
11 we need to get them. That is why they don't do the
12 things that we do. Just simply inserting a catheter
13 into a major vessel is not the issue. We go beyond
14 that. We manipulate the catheter through various
15 chambers of the heart, the pulmonary arteries,
16 whatnot. Techniques that we're experienced in,
17 manipulation of catheters, that they aren't.

18 Q. Could a trained radiologist have done the
19 procedure to remove this guide wire?

20 MR. WARNER: Objection.

21 MR. JACKSON:: Objection. What
22 do you mean by "trained"?

23 MR. KAMPIMSKI: Trained in doing
24 invasive procedures.

25 MR. WARNER: Objection.

1 A. In general, no. No.

2 Q. How does a person develop a pneumothorax or how
3 can a person develop a pneumothorax?

4 A. The probably simplest explanation is
5 introduction of a connection between the atmosphere
6 and the thorax by any one of several means.

7 Q. How did Mrs. Weitzel develop hers?

8 A. I have no idea.

9 Q. Was she receiving -- had she developed it
10 already by the time you came on service?

11 a. I don't recall anything as relates to
12 pneumothorax.

13 Q. So it's your recollection that she didn't have
14 any pneumothorax when you were on service that week?

15 MR. JACKSON: Objection.

16 A. I don't have any recollection of that, no.

17 Q. Were you involved in any of the decision-making
18 process after that weekend in terms of the care to
19 Mrs. Weitzel?

20 A. No.

21 Q. So neither Dr. Rollins nor Dr. Steele consulted
22 you as to what ought to be done or what they might do?

23 A. Not to my knowledge.

24 Q. Did you have any discussions with them or the
25 radiologists or anybody involved in the residency

1 program regarding Dr. Varma's conduct or actions as
2 pertains to the guide wire, after you found out about
3 them obviously?

4 a. I think that the only brief discussion I had was
5 a hallway discussion with Dr. Kathy Keating, who was
6 the director of the program at that point in time; and
7 I basically indicated to her, you obviously are aware
8 that there was a problem, and indicated that if she
9 needed anything from me, to get in contact with me,
10 and that's the extent of it.

11 Q. What was her response?

12 a. If she needed something, she would.

13 Q. Is leaving a guide wire in a person failure to
14 adhere to the appropriate standard of care required of
15 somebody putting it in in the first place?

16 A. That's a difficult question,

17 Q. Is it?

18 A. Geez, I think -- can you rephrase that?

19 Q. Well, tell me what your problem is with the way
20 I did phrase it and I'll try to -- I can keep asking
21 questions, I might not answer your concern.

22 MR. JACKSON: Maybe I can help.
23 Are you talking piece of guide wire that may have been
24 sheared, are you talking about entire guide wire, what
25 are you talking about?

1 MR. KAMPINSKI: We'll start with
2 one guide wire, entire one, then we'll go to two.

3 MR. JACKSON: Okay.

4 Q. Is leaving an entire guide wire in a person
5 failure to adhere to appropriate standard of care?

6 A. Could be.

7 Q. Was it in this case?

8 A. I believe so.

9 Q. If I asked you to assume that two separate ones
10 were put into Mrs. Weitzel and left in her, I assume
11 that would be a failure to adhere to appropriate
12 standard of care as well?

13 A. Yes.

14 Q. How would you characterize, Doctor, the failure
15 to let anybody know that that had occurred by a
16 physician?

17 A. Inexcusable.

18 Q. A guide wire is a foreign body in a person,
19 correct?

20 A. That is correct.

21 Q. And it was in Mrs. Weitzel?

22 A. That's correct.

23 Q. Was she septic at all?

24 A. She had -- had been for some time. Basic
25 process that she had as far as her pulmonary status

E was one of sepsis.

2 Q. In other words, she had infections?

3 A. She had infections.

4 Q. And can you clear sepsis in a person so long as
5 there's a foreign body inside of them?

6 MR. JACKSON: I'll object, but
7 go ahead and answer if you can.

8 A. Yes, you can.

9 Q. Is it harder to do?

10 A. Only in the circumstance where the infection is
11 on the foreign body itself.

12 Q. To your knowledge, was there ever a cheek done
13 on the guide wire or wires to determine if there was
14 infection on them?

15 A. I would have no knowledge of that.

16 MR. KAMPINSKI: Why don't we take
17 about one minute.

18 - - - - -

19 (Brief recess had.)

20 - - - - -

21 MR. KAMPINSKI: That's all the
22 question I have. Some of the other attorneys may have
23 some questions of you, Doctor.

24 MR. SEIBEL: I don't.

25 MR. COYNE: No questions.

1 MISS MOORE: I represent
2 Dr. Varma. My name is Lynn Moore. I have some
3 questions for you.

4 - - - - -

5 CROSS-EXAMINATION

6 BY MISS MOORE:

7 Q. You indicated you had some experience in
8 retrieving guide wires in the past on three occasions?

9 A. One was a guide wire, two were other devices.

10 Q. Could you tell us about that, you said one was a
11 guide wire?

12 A. Yes.

13 Q. Was that an intact guide wire or a piece that
14 was sheared off?

15 A. It was an intact guide wire. A short guide wire
16 as opposed --

17 Q. How long was that?

18 A. Approximately 16 to 18 inches.

19 Q. Where was that removed from?

20 A. Down in the abdominal aorta.

21 Q. You did that removal yourself?

22 A. That's correct.

23 Q. And you were able to remove the whole guide
24 wire?

25 A. Yes.

1 Q. That was in 1979, you said?

2 A. I can't give you specific dates. In the
3 early '80s.

4 Q. Then the second time you mentioned was five
5 years ago?

6 A. The last time -- correction. The first time was
7 in 19, probably, '79, and that was a catheter that was
8 removed from the right ventricle and pulmonary artery.

9 The last time was approximately a year
10 ago and we removed a portion of intravenous catheter
11 from the left pulmonary artery.

12 Q. And all those occasions you were successful in
13 retrieving --

14 A. Yes.

15 Q. -- what you went in after?

16 A. Yes.

17 Q. You made a distinction earlier about a piece of
18 a guide wire being sheared off as opposed to an entire
19 guide wire.

20 MR. KAMPINSKI: That was
21 Mr. Jackson.

22 MR. JACKSON: I made that
23 distinction.

24 BY MISS MOORE:

25 Q. There's a distinction, correct?

1 A. There is a difference, yes, as to the size of
2 the device you are going to be recovering.

3 Q. Does that change the technique that you
4 developed in going in?

5 A. It doesn't so much change the technique as it
6 changes the likelihood of success.

7 Q. How does it change the likelihood of success?

8 A. The smaller the piece, the much more difficult
9 it would be to snare it.

10 Q. Are you familiar in retrieving these guide
11 wires, that some guide wires are defective, have you
12 ever encountered that?

13 A. Not very often.

14 Q. Have you in fact encountered that?

15 A. Personally, no.

16 Q. But you are aware of it?

17 A. I have heard of it, yes.

18 Q. Have you heard of -- how have you heard of it?

19 A. Just from some brief notes in cardiology
20 journals where they describe things like that, that
21 have happened.

22 Q. You haven't experienced that yourself?

23 A. Never.

24 Q. And not in your removal techniques either,
25 correct?

a A. I have not experienced what in removal
2 techniques?

3 Q. Defective guide wires.

4 A. I have never had any experience with defective
5 guide wires in 16 years.

6 Q. In your experience of retrieving guide wires in
7 these attempts, have you encountered guide wires
8 fraying or coming apart?

9 A. In my experience that is extremely uncommon.
10 The most common experience is through misuse.

11 Q. What do you mean by that?

12 A. I'm sorry?

13 Q. What did you mean by that?

14 A. In a hospital this big and the hospitals I've
15 practiced at, it's not uncommon for guide wires and
16 intravascular devices to get away from the people that
17 are putting them in. I don't profess to be the only
18 person in the city to remove them, and you frequently
19 hear stories where they have been rescued by a variety
20 of different technique procedures.

21 Q. And you were not involved in removing the items
22 from Mrs. Weitzel, correct?

23 A. I was not personally involved. I recall going by
24 the lab the day that they wheeled her in. It took an
25 army of people to transport her into the unit, and I

1 knew that Dr. Steele was going to try that procedure
2 that day, but that's all I know.

3 Q. And you never had any conversations with
4 Dr. Varma about this?

5 A. I don't recall having any discussions with him,
6 no.

7 MISS MOORE: Thank you.
8 Nothing else.

9 MR. KAMPINSKI: Any body?

10 MR. OKADA: Nothing here.

11 MR. COYNE: Nothing.

12 - - - - -

13 **RECROSS-EXAMINATION**

14 BY MR. KAMPINSKI:

15 Q. Now did you know that he was going to try the
16 procedure that day?

17 a. Just simply because I knew I showed him how to
18 do that years ago, and we never had any specific
19 discussions about how to get them out, but that's the
20 simplest and easiest approach, and he is a good
21 cardiologist. I knew he was trying it.

22 Q. ~~My question is: How did you know he was going~~
23 ~~to do it that day?~~

24 A. ~~Just saw him wheeling her into the cath lab,~~
25 ~~that's the only place you would do it is in the cath~~

1 lab. You got everybody involved.

2 Q. So just by deductive reasoning you figured it
3 out?

4 A. Yes.

5 Q. In other words, you didn't have any discussion
6 with him before that?

7 A. Not that I know of.

8 Q. That he was going to do it or how he was going
9 to do it or whether or not to do it?

10 A. No, but when I in the past have done these
11 things, he kind of looked over my shoulder through the
12 door to watch what was happening. We talked about
13 these things in the past, how to use this device.

14 Q. So that's how he learned how to do it, by
15 looking over your shoulder through the door?

16 B. Yes.

17 MR. KAMPINSKI: Okay. That's all
18 I have.

19 MR. JACKSON: That's it?

20 MR. KAMPINSKI: Yes.

21 You have the right to read your
22 testimony or you have you the --

23 MR. JACKSON: He'll read it.

24 - - - - -

25 (Deposition concluded; signature not waived.)

ERRATA_SHEETPAGELINE

I have read the foregoing transcript
and the same is true and accurate.

ALFRED KITCHEN, M.D.

a The State of Ohio, :

2 County of Cuyahoga. :

CERTIFICATE:

3 I, Frank P. Versagi, Registered Professional
4 Reporter, a Certified Legal Video Specialist, a Notary
5 Public within and for the State of Ohio, do hereby
6 certify that the within named witness, was by me
7 first duly sworn to testify ALFRED KITCHEN, M.D.,
8 the truth in the cause aforesaid; that the testimony
9 then given was reduced by me to stenotypy in the
10 presence of said witness, subsequently transcribed
11 onto a computer under my direction, and that the
12 foregoing is a true and correct transcript of the
13 testimony so given as aforesaid. I do further certify
14 that this deposition **was** taken at the time and place
15 as specified in the foregoing caption, and that I **am**
16 not a relative, counsel, or attorney of either party,
17 or otherwise interested in the outcome of this action.
18 IN WITNESS WHEREOF, I have hereunto set my hand and
19 affixed my seal of office at Cleveland, Ohio, this
20 15th day of September, 1992.

21 
22

23 Frank P. Versagi, Registered Professional Reporter,
24 a Certified Legal Video Specialist, Notary
25 Public/State of Ohio. Commission expiration: 2-25-93.