

1 The State of Ohio, )  
2 )  
3 County of Cuyahoga. )

SS:

Doe. 242

4 IN THE COURT OF COMMON PLEAS

5 Lester Weitzel, Admr., etc., )

6 Plaintiff, )

7 vs. )

Case No. 226946

8 St. Vincent Charity Hospital, et al., )

9 Defendants. )

10 - - - - -

11  
12 Deposition of PAUL M. KOHN, M.D., taken by  
13 the defendants for the purpose of cross-examination,  
14 before Marie L. Larbig, a Notary Public within and for  
15 the State of Ohio, at the offices of Paul M. Kohn, M.D.,  
16 Brainard Place, 29001 Cedar Road, Lyndhurst, Ohio,  
17 at 5:50 P.M., Thursday, April 8, 1993, pursuant to  
18 notice.

19 - - - - -  
20  
21  
22  
23  
24  
25

## 1 APPEARANCES:

2 For the Plaintiff:

3 Charles Kampinski, Esquire

4 Charles Kampinski Co., L.P.A.

5 1530 Standard Building

6 Cleveland, Ohio - 44113

7 For Defendant St. Vincent Charity Hospital:

8 William J. Coyne, Esquire

9 William J. Coyne &amp; Associates

10 1240 Standard Building

11 Cleveland, Ohio - 44113

12 For Defendant Cleveland Clinic Foundation:

13 Mary M. Bittence, Esquire

14 Baker &amp; Hostetler

15 3200 National City Center

16 Cleveland, Ohio - 44114

17 For Defendant Prem Varma, M.D.:

18 Burt J. Fulton, Esquire

19 Gallagher, Sharp, Fulton &amp; Norman

20 Sixth Floor, Bulkley Building

21 1501 Euclid Avenue

22 Cleveland, Ohio - 44115

APPEARANCES Continued:

For Defendant G. A. Moasis, H.D.:

Robert C. Seibel, Esquire

Jacobson, Maynard, Tuschman & Kalur Co., L.P.A.

1001 Lakeside Avenue, Suite 1600

Cleveland, Ohio - 44114

For Defendant Central Radiology Consultants:

Robert D. Warner, Esquire

Reminger & Reminger Co., L.P.A.

The 113 Building

Cleveland, Ohio - 44114

- - - - -

I N D E X2 WITNESS:

3	<u>Paul M. Kohn, M.D.</u>	<u>Page</u>
4	Cross by Mr. Seibel,	4
5	Cross by Mr. Warner,	43
6	Cross by Mr. Coyne,	45
7	Cross by Ms Bittence,	63
8	Cross by Mr. Fulton,	68

9

10 E HIBIT:

11	Defendant's Exhibit A,	<u>Page</u> 5
----	------------------------	------------------

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1                   PAUL M. KOHN, M.D., of lawful age,  
2                   called by defendants for the purpose of cross-  
3                   examination, as provided by the Ohio Rules of  
4                   Civil Procedure, being by me first duly sworn,  
5                   as hereinafter certified, was examined and  
6                   testified as follows:

7                   CROSS-EXAMINATION OF PAUL M. KOHN, M.D.

8                   BY MR. SEIBEL:

9                   Q     Would you state your full name for the record,  
10                   please?

11                   A     It's Paul M. Kohn. K-o-h-n.

12                   Q     Doctor, is that your current C.V. in front of you?

13                   A     Yes.

14                   Q     Could I take a look at it, please?

15                   A     Sure.

16                   MR. SEIBEL:                   Is this a copy  
17                   that we can attach to the deposition?

18                   THE WITNESS:                   Well, if you want-  
19                   Does everybody want a copy of this?

20                   MR. COYNE:                   Yes.

21                   MR. KAMPINSKI:                   If we attach it  
22                   to the deposition, everybody will have it.

23                   THE WITNESS:                   I can have Debbie  
24                   make copies.

25                   MR. SEIBEL:                   I don't care,

as long as there is one attached to the deposition transcript.

THE WITNESS: That's all you need, just the one? All right.

MR. SEIBEL: What I am saying, is this a copy that we can give to the court reporter?

THE WITNESS: Yes, you can, sure.

MR. SEIBEL: Why don't you just go ahead and mark that?

- - - - -

(Defendant's Exhibit A was marked for the purpose of identification.)

- - - - -

Q Dr. Kohn, would you identify for the record what we have marked for this deposition as Defendant's Exhibit A?

A This is my C.V.

Q Is it current?

A Yes.

Q Are there changes, modifications, corrections to that C.V.?

No.

Q That would be correct. All right.

Would you describe your current

1 practice, please?

2 A Well, I am an internist with special interests in  
3 cardiology, and I would say that my practice is  
4 probably sixty percent cardiology and forty  
5 percent general internal medicine.

6 Q What do you do in your cardiology practice?

7 A I operate my own laboratory. It's called 'Brainard  
8 Cardiovascular Laboratory where we do various  
9 non-invasive testing, including echocardiography,  
10 stress testing, Holter Monitoring, arterial and  
11 venous studies.

12 Q What sort of arterial and venous studies?

13 A We do, for example, for people who have obstruction  
14 in the arteries in the lower extremities. And  
15 also we do special studies of carotid, obstruction  
16 of carotid arteries, and also do venous studies  
17 to rule out such things as deep vein thrombosis.

18 Q What does the other forty percent of your practice  
19 involve?

20 A General internal medicine.

21 Q What does that mean?

22 A That covers the entire gamut of internal medicine  
23 which includes all other divisions other than  
24 cardiology; such as, coronary disease, neurologi-  
25 cal disease, endocrinological disease, such things

1 as respiratory infections. All the things that  
2 any general doctor sees.

3 Most of these people, of course, are people  
4 who also have heart disease. I would say most  
5 of them do, but they have other medical problems  
6 along with it.

Q What specialty training do you have in cardiology?

8 A Well, my special training is not formal.

9 When I did my residency program, which was  
10 back in 1946, which was the last, there were  
11 practically no fellowships available. So I'm  
12 basically trained by taking courses, going to  
13 meetings.

14 I developed an interest in it when I was  
15 going to medical school. And I did work in the  
16 physiology laboratory at Case Western Reserve.

17 And I did a fair amount of research work at  
18 Mount Sinai Hospital after I was there, I worked  
19 in the -- in fact, I was the first person to  
20 develop a cardiac catheterization program. This  
21 goes back to about 1950.

22 I don't do that anymore. That's now a young  
23 man's game. I don't do any invasive cardiology  
24 anymore.

25 Q When was the last time you did any sort of



1 |       invasive cardiology procedure?

2 | A       I suppose probably about 1960.

3 | Q       What's your date of birth?

4 | A       December 12, 1917.

5 | Q       And your Social Security number?

6 | A       285-09-3882.

7 | Q       Are these the materials that Mr. Kampinski sent  
8 |       you in this case?

9 | A       Yes.

10 | Q       Could I take a look at them, please?

11 | A       Certainly.

12 | Q       Do you recall when you were first contacted in  
13 |       this case?

14 | A       I don't remember the date.

15 |               THE WITNESS:               Do you?

              MR. KAMPINSKI:           No.

17 | Q       In your material there's a letter to you from  
18 |       Mr. Kampinski dated February 3, 1993; was that  
19 |       when you were first contacted by him?

20 | A       No, I think it was long before that.

21 |               I think if you look at my report that I  
22 |       sent to Mr. Kampinski, undoubtedly that was  
23 |       shortly after I was first contacted by him,  
24 |       I would think.

25 | Q       All right. Was that contact by phone?

1 A Probably.

2 Q Have you worked with Mr. Kampinski on cases before?

3 A I think we had one other case. I don't remember  
4 when that was.

5 THE WITNESS: Do you recall?

6 MR. KAMPINSKI: It might have  
7 been two.

8 THE WITNESS: Two cases you  
9 think?

10 MR. KAMPINSKI: The other one  
11 that went to court. The one that went to  
12 court.

13 THE WITNESS: I don't remember  
14 how long ago they were either.

15 So this is the third case I have had  
16 with you?

17 MR. KAMPINSKI: Yes.

18 Q Do you have a list of the materials you reviewed  
19 in this case?

20 A It's all there.

21 MR. KAMPINSKI: I think the  
22 report sets out the initial materials, Bob.

23 Q All right, let's go through this.

24 What I'm curious about is, are there any  
25 materials you reviewed in this case other than

1 are listed in your report?

2 MR. KAMPINSKI: You mean prior to  
3 his doing the report?

4 MR. SEIBEL: No, at any --  
5 I mean --

6 A Since then?

7 Q Yes.

8 A Yes, I have several things there that I have  
9 reviewed.

10 Q All right. This packet here, Doctor, are these  
11 the only medical records that you had to review?

12 A I originally had the hospital chart to look at.

13 I don't have it now. I gave it to Mr.  
14 Kampinski.

15 Q Did you read that chart cover to cover?

16 A More or less. Sure, I did.

17 Q What about these records right here, did you get  
18 these separately from the original chart?

19 A These are extracts from the original chart.

20 Q Did you copy these?

21 A No, I didn't.

22 Q Have you reviewed the deposition of Dr. Moasis?

23 A I don't think so. No.

24 Q No?

25 Have you reviewed a report in this case by

1 Dr. Markowitz?

2 A 'Cas.

3 Q Do you refer patients for cardiovascular surgery  
4 in your practice?

5 A Surely.

6 Q And to whom do you refer?

A Dr. Markowitz and his associate.

8 Q Dr. Kaufman?

9 A Dr. Kaufman.

10 Q Anybody else?

11 A No, not since they've been at Mount Sinai.

12 Q All right. Why do you refer your patients who  
13 need surgery to those two physicians?

14 A Pardon me?

15 Q Why do you refer your patients who need surgery  
16 to those two physicians?

17 A I personally think they are the best two surgeons  
18 in the City of Cleveland.

19 Q What is your fee for reviewing a case?

20 A I charge two hundred dollars an hour for reviewing  
21 a case.

22 Q And what about your fee for a deposition?

23 A Four hundred dollars an hour.

24 Q And what about your fee for trial testimony?

25 A Six hundred dollars an hour.

1 Q Does the term 'medical clearance' mean something  
2 to you as a physician?

3 A Certainly.

4 Q What does that mean?

5 A It means as far as I am concerned, before any of  
6 my patients are referred to surgery, they are  
7 examined by me thoroughly and I have to make a  
8 decision whether or not I think this patient is,  
9 his medical status is satisfactory so that he  
10 could undergo surgery in my opinion.

11 Q Is your primary concern as an internal medicine  
12 physician whether that patient can withstand  
13 general anesthesia?

14 A Yes.

15 Q Do any other concerns go into your decision to  
16 medically clear a patient for surgery besides  
17 the ability of that patient to withstand general  
18 anesthesia?

19 A Of course that goes to many problems why he may  
20 not be able to.

21 Of course I'm mainly interested in cardiology,  
22 so I have to make sure that their cardiac status  
23 is adequate, but there are other problems too.  
24 For example, if a person has some electrolyte  
25 imbalance. For example, if there's some

1           endocrinological problem, say diabetes that is  
2           not well controlled at the time.

3           I make sure that their hematologic situation  
4           is satisfactory.

5           Those are the things that have to be checked  
6           out.

7   Q       What are the cardiac risks to a patient undergoing  
8           general anesthesia thirty days after a myocardial  
9           infarction?

10 A       The literature and experience indicates that the  
11           chances of problem, particularly death or myocar-  
12           dial infarction -- or recurring myocardial  
13           infarction is extremely high the closer the  
14           surgery is to the acute myocardial infarction.

15           As a matter of fact, up until at least three  
16           months after a myocardial infarction the mortality  
17           rate can be as high as forty percent -- forty,  
18           fifty percent. So we try to avoid that if at all  
19           possible, unless it's a very crucial situation  
20           that cannot be deferred.

21 Q       A good source in the medical literature to under-  
22           stand that issue there is, I think in your report  
23           you said Braunwald's text on heart disease?

24 A       Sure.

25 Q       Can you think of any others?

A Well, there's any number of them.

He, of course, gives references himself in his book. And I thought the simplest thing to do is just to mention his which encompasses, I think he said --

THE WITNESS: May I see my report again, please?

MR. KAMPINSKI: Sure.

Here it is.

A I think the numbers were, yes, thirty to sixty percent, according to the various series.

Q In your practice have you ever had a surgeon who declined to do surgery on a patient that you had cleared for surgery medically?

A I can't think of any situation like that, no.

Q Why are patients in the post M.I. period at greater risk for problems surviving general anesthesia?

A It takes many months for the heart to regain its former ability to perform its function.

There is a rehabilitation period that goes to at least three to six months. And during that time until that period is over, the heart function is definitely impaired. There is a marked instability, electrical instability, for

example, that can cause problems and cause  
rhythm disturbances. And basically it's the  
left ventricular function that's impaired at that  
time. It takes that much time for the heart to  
regain its ability to pump as it should.

Q Is there a relationship to the risk of surgery  
in a patient post M.I. to the size of the infarct?

A Aside from the infarct?

Q The size of it.

A Oh, the size of the infarct. Yes. Oh, yes, I  
would think so.

Again, the most important thing is the  
patient's left ventricular function which in turn  
depends on how much damage has been done to the  
myocardium as a result as a result of the  
myocardial infarction.

Q So the more damage as a result of the M.I., the  
greater risk; the less damage, the less risk?

A Correct. In general.

Q I understand.

In your review of the records were you able  
to detect any intraoperative complications from  
the anesthesia during Mrs. Weitzel's March 14th  
surgery?

A No.



1 Q Did Mrs. Weitzel eventually have to have the  
2 wires removed?

3 A Eventually, yes.

4 Q Why?

5 A Well, any kind of foreign body, it's wise to  
6 remove it if you possibly can. Theoretically  
7 there is a possibility of various complications,  
8 which I'm sure you are aware of if you were reading  
9 all of the various other reports that the doctors  
10 have made, but basically it's a question of  
11 embolism, thrombo embolism and infection.

12 Q Would you include perforation in the risks too?

13 A And perforation, yes,

14 Q I suppose with embolism there are sub sets?

15 You have thrombotic embolization and, say,  
16 atherosclerosis embolism as risks?

17 A Yes.

18 Q Any others in terms of embolism, risks?

19 A Basically you've covered it.

20 Q And infection; explain that risk for me.

21 A Well, there's always a possibility of infection.  
22 Although in this particular case apparently there  
23 wasn't any at the time that the first wire was  
24 removed, and it was checked for infection, none  
25 was found.

But anything that goes through the skin and into the vascular system possibly could have some bacteria on it, and bacteria can grow in the bloodstream and cause sepsis.

Q And what are the potential problems with perforation?

A It depends on what's perforated of course. And hemorrhage certainly would be the most important one that you could think about.

Q These risks that you have just identified, embolism, infection and perforation, would those risks diminish as time goes on until those wires were removed?

A No, I don't think that they would diminish.

Q Would they increase?

A I really don't know. I don't have any experience with that situation.

I would think that as long as there isn't any evidence of infection and there isn't any evidence of a perforation at the time that we're talking about, I don't think there is a likelihood of it getting much worse. However, there is a possibility, I'll have to agree, that with time, the more time is involved, there can be some movement of the catheter to a situation

that it can cause more problems.

2 Q So I guess migration would be another risk?

3 A Yes.

4 Q If Mrs. Weitzel would have survived her hospitali-  
5 zation at St. Vincent's Charity Hospital in 1991  
6 and was fortunate enough to begin rehabilitation,  
7 would her activities be restricted in any way  
8 because of the presence of the wire?

9 A Oh, I'm sure the wires would have been removed  
10 before that time.

11 Q Why, Doctor?

12 A Well, for the same good reason that we just  
13 mentioned, it's much wiser to get rid of a foreign  
14 body than leaving it in because of the possibility  
15 of causing difficulty.

16 But, you know, in this particular case as  
17 you well know, the problem was that it was done  
18 at the wrong time, the surgery, and everybody  
19 feels that she was improving gradually, and most  
20 of the data, most of the opinions that I've read  
21 and my own experience -- I don't have any for  
22 this particular kind of a situation, but most  
23 of the people who deposed for you indicated that  
24 there was no reason why he couldn't wait a  
23 period of time until her general condition

1 improved to make her a safer prospect for  
2 general surgery with anesthesia.

3 Q In your review of the records did you see any  
4 evidence that Mrs. Weitzel had any problem with  
5 embolization as a result of the wire?

6 A No.

7 Q How about any problems with infection as a result  
8 of the wire?

9 A No.

10 Q Any problems with perforation as a result of the  
11 wire?

12 A None; no, sir.

13 Q And any problems with migration of the wire?

14 A No, there were no problems involved at all at the  
15 time.

16 Q These risks that we have identified in leaving  
17 the wire in, were they all life-threatening?

18 MR. KAMPINSKI: You mean these  
19 theoretical risks?

20 MR. SEIBEL: That's what a  
21 risk is, theoretical.

22 MR. KAMPINSKI: You mean if they  
23 occur?

24 MR. SEIBEL: Right.

25 MR. KAMPINSKI: Then they

1 | wouldn't be risks, they would be happenings.

2 | MR. SEIBEL: They would be  
3 | facts.

4 | A It's doubtful.

5 | MR. SEIBEL: I understand.

6 | The point's well taken, Chuck.

7 | Q If the wire had caused an embolization, would  
8 | that have been life-threatening to Mrs. Weitzel?

9 | A Not necessarily. Of course it depends upon  
10 | where the embolism lies.

11 | The most common place that you would  
12 | recognize would be in the brain and that, as you  
13 | know, is not life-threatening although it could  
14 | cause a stroke.

15 | Interestingly, in my experience emboli  
16 | usually don't have real severe permanent results  
17 | as a result of the obstruction of a vessel.

18 | It seems like the embolus seems to gradually,  
19 | not too long, will in time dissolve and the  
20 | vascular function continues on as it had been.

21 | So consequently that's -- even though it's  
22 | a potential risk for an embolus of the brain,  
23 | I would say that in general if she had one,  
24 | probably there would be no real permanent residual,  
25 | in my experience.

I see quite a few of those from, emboli coming from the left atrium in some people who have atrial fibrillation, and they have, it's almost like a transient ischemic attack, it is clear very quickly.

It's not like a thrombosis, a cerebral thrombosis that causes a real scar on the brain and causes permanent damage.

Q If this wire would have perforated her artery, would that have been a life-threatening situation?

A It's conceivable.

Q And if the wire had migrated somewhere, would that have been a life-threatening situation?

A It depends where it had migrated.

Q If it's going to migrate, where is it likely to migrate?

A Any of the major tributaries of the aorta.

Q And if Mrs. Weitzel had an infection because of the wire, is that potentially life-threatening?

A No, not in this day and age.

Q Why not?

A Because of the antibiotics today.

Q How would Mrs. Weitzel's condition have had to change before she would have been a candidate for surgery to remove the wire?

MR. KAMPINSKI: You are talking about surgery with anesthesia as opposed to percutaneous?

MR. SEIBEL: Surgery, yes.

A Like the kind she had?

Q Right.

A Well, I certainly would have preferred had she improved to the extent that she had been extubated, would no longer be on a respirator and that her cardiac status would remain stable and improve, gradually improve as she was already beginning to do. And I certainly would like to see that her mental status would also have cleared to a great extent, which she was beginning to do.

Q What was her cardiac status before the March 14th surgery?

A It was really coming along very nicely. She was no longer in congestive failure and she was not having any significant arrhythmias anymore, so I would say she was coming along reasonably well.

Q And what was her pulmonary status before the March 14th surgery?

A She still had bilateral infiltrates in the lung. And even that was definitely improving. And the pulmonologist just prior to surgery was figuring

1 on extubating her and weaning her off the  
2 respirator within two weeks.

3 Q What was her mental status, her neurological  
4 status before surgery?

5 A Just before surgery?

6 Q Right.

7 A It was difficult of course to assess because she  
8 was on Prosed; however, she definitely responded  
9 to commands, she moved all four extremities, she  
10 seemed to be reasonably alert despite the Prosed,  
11 so I would think that in general her neurological  
12 status was quite satisfactory.

13 Q Was her March 14th surgery elective?

14 A In my opinion, it was.

15 Q How do you define 'elective surgery'?

16 A Well, it's a surgery that you can delay more or  
17 less indefinitely unless some other problem  
18 arises that makes it emergent.

19 Q When did Mrs. Weitzel become hemodynamically  
20 unstable after the surgery?

21 A Just a few hours later.

22 Q Can you tell from the records what time that was?

23 A I would have to look at the records. I don't  
24 know exactly.

25 (Discussion had off the record.)



A Well, at 8:00 o'clock in the morning her blood pressure was 140 over 74. And at 1:30 it was 160 over 88. And then 4:00 o'clock in the afternoon, 130 over 80. It was beginning to drop.

And this is at 8:00 o'clock, right?

MR. FULTON: Two thousand less twelve.

A 8:00 P.M. it had fallen to 112 over 60. And her pulse rate had risen to 141. Respiration up to 33.

So I think that we can certainly say at that time her hemodynamic status had very definitely deteriorated.

Q At 8:00 o'clock P.M.?

A Yes.

n Because she's hypotensive?

A Yes.

Q Tachycardic?

A Right.

Q Tachetic?

A Yes.

Q Would you call her hemodynamically unstable at the 1600 hour reading?

A It was just beginning to be questionable, but I wouldn't challenge anybody about that at that

1 particular time, at the 8:00 o'clock figures.

2 Q So to a reasonable medical probability at 8:00  
3 o'clock she became hemodynamically unstable?

4 A Yes.

5 Q Do you have an opinion why she became hemodynamic-  
6 ally unstable at that time?

7 A Probably because of the blood loss, the hemorrhage.  
8 They found 500 cc's of blood at the operative  
9 site.

10 Q Do you have an opinion when she began to bleed  
11 postoperatively?

12 A No. I would imagine within an hour or two after  
13 surgery; if not, sooner.

14 Q Why do you say that?

15 A Well, why would she all of a sudden bleed at  
16 8:00 o'clock? I'm sure that she started to ooze  
17 shortly after surgery because the vessel was not  
18 ligated I would presume.

19 Q All right. In a patient like Mrs. Weitzel, how  
20 soon will there be hemodynamic changes as a  
21 result of blood loss?

22 A It depends, of course, how fast the blood is  
23 being lost.

24 In her particular case, I would say it took  
25 several hours.

1 Q Was it appropriate for Dr. Steele to attempt  
2 percutaneous removal of the wires on, I think it  
3 was March 12th?

4 A Yes.

5 MR. COYNE: 13th.

6 MR. KAMPINSKI: 13th.

7 Q Why?

8 A For the reasons that we just mentioned, that if  
9 you can remove a foreign body expeditiously and  
10 without trauma, without surgery, that is the  
11 thing to do.

12 Q Do you know why it was Dr. Steele was not able  
13 to remove the second wire on the 13th?

14 A I sure don't know why he couldn't do it.

15 There's some conflicting opinion about that  
16 too. I think I remember reading somewhere that  
17 he wasn't even aware of the fact that there were  
18 two wires there. He thought that two wires must  
19 have been superimposed on one another in one  
20 of the films that he saw. I think he thought  
21 there was only one wire.

22 That would be one of the reasons. He should  
23 have known about it.

24 Q Maybe stating the obvious, but do you believe  
25 that Dr. Varma was negligent for leaving the

1 two wires in?

2 A Obviously.

3 MR. FULTON: Objection.

4 MR. KAMPINSKI: Why?

5 MR. FULTON: I don't know.

6 Q Doctor, do you have an opinion to a reasonable  
7 medical probability that Dr. Varma's negligence  
8 contributed to cause Mrs. Weitzel's death?

9 A Absolutely.

10 Q In what respects and how?

11 A Well, the whole problem was, there was a series  
12 of mishaps that started with Dr. Varma's leaving  
13 in the two wires.

14 Now if he hadn't left in the wires, there  
15 would be no need for Dr. Steele to remove the  
16 wire, as he did, and there certainly would have  
17 been no thought about removing the wire at all  
18 at the time that he decided to do it surgically  
19 and consequently that surgical procedure would  
20 not have been done. And that was the thing that  
21 killed her.

22 So the sequence started with leaving those  
23 wires in place.

24 Q You told me before that you felt that one of  
25 the vessels may not have been ligated during the

1 surgical procedure and led to bleeding afterwards.

2 MR. KAMPINSKI: Well, you were  
3 asking him to speculate on what may have  
4 caused the bleeding.

5 A I'm not a surgeon.

6 Q I understand. What I really want to find out --  
7 I'm not sure I asked this correctly before --  
8 is whether you have an opinion to a reasonable  
9 medical probability as to why she began to bleed  
10 postoperatively.

11 A I would think that's the most obvious reason a  
12 person would bleed postoperatively, a small  
13 vessel that was not ligated properly or one that  
14 let loose let's say and then it started to bleed  
15 postoperatively.

16 Q Can you think of any -- I mean, is that --

17 A That would be the only reason I could figure out.

18 Q Is there a relationship to the anesthesia that  
19 Mrs. Weitzel received during the surgery and this  
20 postoperative bleeding?

21 A No.

22 Q And is there any other reason that you have been  
23 able to glean from these records to explain Mrs.  
24 Weitzel's hemodynamic instability postoperatively  
25 other than bleeding?

1 | A Well, I think that she was still in the post  
2 | myocardial infarction danger period so that,  
3 | again as I mentioned previously, I'm sure the  
4 | left ventricular function was still impaired  
5 | to a significant degree, being only about a  
6 | month post myocardial infarction, and consequently  
7 | that heart could not tolerate the stress of the  
8 | blood loss.

9 | Q Why does a patient's blood pressure go down when  
10 | they bleed?

11 | A We can use Mrs. Weitzel as an example.

12 | I want to confine really to those patients  
13 | like Mrs. Weitzel or Mrs. Weitzel herself.

14 | A Just loss of blood volume can certainly drop the  
15 | blood pressure. And that's what happened to  
16 | her, her blood volume diminished.

17 | You're talking about a person who also had  
18 | surgery too and who had -- I mean who also had  
19 | a myocardial infarction.

20 | Q Sure.

21 | A So both of those are contributors.

22 | Q And why does a person's heart rate go up?

23 | A In an attempt of the body to compensate for the  
24 | drop in the cardiac output.

25 | When the stroke volume is reduced -- there's

1 an amount of blood squirted out with each beat,  
2 and when that becomes inadequate, in order to  
3 maintain a cardiac output which would be, let's  
4 say, four to five liters per minute, the only  
5 way to do it is to increase the number of beats  
6 per minute.

7 Q And why does a person start to breathe quicker?

8 A Again for the same reason, that there's a  
9 difficulty in oxygenation and cardiac output is  
10 dropping.

11 Q All right. In this postoperative period did  
12 Mrs. Weitzel receive appropriate care from the  
13 hospital nurses?

14 A Well, I think so.

15 I don't know if we can really blame the  
16 nurses particularly.

17 I'm trying to recall whether or not the  
18 nurse in charge of contacted the resident.

19 "Dr. Chang notified."

20 So he was notified at 8:00 o'clock.

21 Q What should Dr. Chang have done to give Mrs.  
22 Weitzel acceptable medical care?

23 First of all, should he have come to see the  
24 patient?

25 A I didn't see any note about that.

I recall just seeing 'Dr. Chang notified.'

2 I don't see any notes to the effect that he  
3 was there.

4 Q Should he have come to see the patient?

5 A Pardon me?

6 Q Should Dr. Chang have come to see the patient  
7 when notified by the nurse?

8 A There is no evidence that she did in the notes.

9 Q Should Dr. Chang have come to see the patient  
10 when notified by the nurse of these vital signs?

11 A Should she have come?

12 Q Yes.

13 A Sure, she should have come.

14 Q Why?

15 A To take care of the situation, do something about  
16 it.

17 Q Was it below or a breach of accepted standard of  
18 care for a resident covering a coronary care unit  
19 at St. Vincent's Charity Hospital not to come see  
20 this patient when apprized of those vital signs?

21 A I would think so.

22 Q What treatment should Mrs. Weitzel have received  
23 that evening in response to these vital signs?

24 A Well, had she been seen expeditiously, I think  
25 that a decision could have been made to increase --



1 start fluids, bolus the fluid, try to increase  
2 the fluid volume to get the blood pressure back  
3 up, make an effort to find out why this happened  
4 and get some idea whether or not there was any  
5 bleeding. That was one of the first things to  
6 think of in a situation like this postoperatively.  
7 And of course in time they could have given her  
8 transfusions.

9 Q At what point during this evening did Mrs.  
10 Weitzel's death become a probability?

11 A Well, I would say between 10:00 and 12:00 midnight.

12 Q Why?

13 A Because the situation was progressively deteriora-  
14 ting.

15 She was very diaphoretic, obviously in shock.

16 Q Would it have been acceptable medical care for  
17 someone at the hospital to have called either  
18 Dr. Moasis or Dr. Steele?

19 A Yes.

20 Q Why?

21 A They were the people who were most involved as  
22 surgeons, they should have been notified as soon  
23 as possible that this complication had occurred.

24 Q And was it a breach of acceptable medical care  
25 for them not to call either Dr. Steele or Dr.

Moasis at some point?

2 A Well, the way it works ordinarily in a hospital  
is that the nurse calls the resident or intern  
4 who is on call and then in turn the resident  
5 would call the attending surgeon and attending  
6 physician.

7 So those people were never involved because  
8 Dr. Chang never saw the patient.

9 Q And as a result Mrs. Weitzel didn't get the  
10 treatment that she needed for her condition?

11 A Correct.

12 Q If Mrs. Weitzel had received the appropriate care  
13 from either the hospital nurse or the hospital  
14 resident the evening of her surgery, would she  
15 have survived?

16 MR. COYNE: Objection.

17 A It is possible, certainly very likely.

18 Q But for the wires being left in Mrs. Weitzel  
19 during this arterial line placement, would she  
20 have died prematurely?

21 MR. FULTON: Objection to the  
22 form of the question.

23 MS BITTENCE: Same objection.

24 MR. KAMPINSKI: I am going to  
25 object.

1 MR. SEIBEL: Do you want to  
2 make it unanimous?

3 THE WITNESS: Would you please  
4 repeat the question? I want to see why  
everybody objected.

6 (Question read.)

7 MR. KAMPINSKI: And in addition  
8 to it not being, I mean just technically  
9 not being a legal standard, it's been asked  
10 and answered. The doctor already told you  
11 he believes that was a contributing proximate  
12 cause.

13 MR. SEIBEL: Yes, the doctor  
14 said she wouldn't have died -- I want to know  
15 overall if she would have died prematurely.

16 A What do you mean by 'prematurely'?

17 Q Before a normal, healthy person would have died.

18 A In other words, you want to know whether she  
19 would have died while in the hospital, for  
20 example, shortly after the myocardial infarction  
21 or at the time that surgery was done?

22 She was certainly alive at that point.

23 What in your opinion would be premature,  
24 that's what I don't quite understand.

25 Right. Sure. That's fair.

What was her life expectancy on February 25, 1991, the day before the wires were left in?

A I would say expectancy would be no less than five years less than a normal individual or even less than that.

We know nowadays that if a person goes for five years post myocardial infarction, they have standard insurance.

Q Did anything happen in between the time that the wires were inserted and until she went to surgery that caused you to think that, you know, her condition was worsening?

A No.

Q And in that time between the insertion of the wires and her surgery did her condition stabilize?

A Improve; it began to improve somewhat, yes.

Q Do you hold an opinion to a reasonable medical probability that Dr. Moasis breached accepted standards of care in his involvement with Mrs. Weitzel?

A I would have to say 'yes'.

Q In what respects?

A Because his patient was only one month post myocardial infarction and consequently the dangers of having postoperative complications and

death are much greater than the probability of getting the problems by leaving the wire in.

Q In assessing the risks versus benefits of surgery, were there benefits to the surgery?

I mean in the preoperative evaluation.

A Only to the extent that it's always nice to get rid of the foreign body.

I think that before -- again this doesn't reflect on Dr. Moasis, it's his business to operate, but I think it was up to Dr. Steele to see if he couldn't remove the wire himself, there are other ways, other people who are qualified to remove it in a much less traumatic form.

And if there was really a problem in anybody's mind how dangerous it was to get that wire out, they certainly should have tried to do it in a manner other than a two-hour open surgery procedure.

Q Was Dr. Moasis entitled to rely upon Dr. Steele's clearance for surgery?

A Well, he's entitled to, yes.

Q Do you also feel that Dr. Steele breached standards of care to a reasonable medical probability?

A Yes.

1 Q How?

2 A By clearing her for surgery.

3 Q And what's the basis for your opinion?

4 MR. KAMPINSKI: Other than what  
5 he has already told you a few times?

6 MR. SEIBEL: Well, if it's  
7 what he's already told me, fine.

8 A The dangers of complications and surgery shortly  
9 after acute myocardial infarction.

10 Q What injuries to Mrs. Weitzel were directly and  
11 proximally caused by Dr. Moasis's negligence?

12 A Just having surgery, plus the fact that she bled  
13 after surgery.

14 MR. FULTON: When you use the  
15 word 'injury' .....

16 Could I have that question read back?

17 MR. KAMPINSKI: I mean it's  
18 obvious, she died.

19 Are you asking something different?

20 MR. SEIBEL: I am asking for  
21 the answer.

22 MR. FULTON: Could you read  
23 that question back?

24 (Question read.)

25 A I didn't hear the word 'negligence'.

1 Q Well, you know, I'm equating 'negligence' with  
2 breaches of the standard of care.

3 Is that your understanding of those terms?

4 A Yes, but I think the main negligence was in doing  
5 the surgery.

6 Q Right.

7 A As far as the technical aspects of the surgery,  
8 as far as I can understand it, it was certainly  
9 adequate except that there was bleeding.

10 This is certainly a complication that occurs  
11 even in the best of hands, so that's something  
12 I can't blame him for particularly. I don't  
13 think that's a matter of negligence.

14 MR. KAMPINSKI: And I don't think  
15 that's what you are trying to do at all.

16 MR. SEIBEL: No, it wasn't.

17 You're going to ask him that question  
18 at trial, that's why I asked.

19 MR. KAMPINSKI: Well, it's  
20 obvious that the doctor perceives what you  
21 are asking him had to do with the actual  
22 surgery, okay?

23 He's already told you he thinks  
24 Dr. Moasis was negligent in doing the  
25 surgery at all and that led to her death.

MR. SEIBEL: Yes.

MR. KAMPINSKI: So, I mean,  
obviously there's a confusion in terms of  
the question that you are asking him.

THE WITNESS: Did I answer the  
question satisfactorily about the surgery,  
the technical aspects and so on?

MR. SEIBEL: I think so.

Q Are you going to come to trial and criticize  
anything that Dr. Moasis did during the surgery?

A No.

Q Are you going to come to trial and criticize  
anything that Dr. Steele did actually during the  
attempted removal percutaneously on the 13th?

A Yes.

Q All right. What are you going to criticize?

A I'm going to criticize for not removing the  
second wire.

Q Do you know why he didn't remove the second wire?

A I do not know why. It's not clear to me.

Q Do you feel it was below the standard of care  
for Dr. Steele not to remove the second wire  
during his percutaneous attempt?

MR. KAMPINSKI: Are you now  
asking this question on behalf of Dr. Steele



or in what capacity are you asking this?

MR. SEIBEL: Evidence; same  
firm.

MR. KAMPINSKI: As Mr. Jackson.  
Mr. Jackson isn't here. He  
represents Dr. Steele.

I just wondered what you are asking  
as.

MR. SEIBEL: As Dr. Moasis's  
lawyer.

Give me the question back.

(Question read.)

A I answered 'yes'.

Q Why do you feel that it was below the standard  
of care for Dr. Steele not to have removed the  
second wire during the March 13th percutaneous  
procedure?

A Again the question arises why he didn't do it.

Now if he didn't do it because he felt that  
he couldn't do it, it was too difficult for him,  
then he could have had another cardiologist,  
invasive cardiologist or one of the invasive  
radiologists to attempt to remove it percutane-  
ously.

If he was not aware of the fact that he

1           didn't remove the second wire, he should have  
2           known that there was another wire there that was  
3           left in place.

4           I could not understand why he didn't do it.  
5           There isn't any clear explanation for that.

6   Q       Do you have any personal experience in removing  
7           these kinds of foreign bodies?

8   A       No.

9   Q       If you assume for purposes of this question that  
10          Mrs. Weitzel survived her St. Vincent's Charity  
11          hospitalization --

12   A       Yes.

13   Q       -- what would her quality of life have been?

14   A       I think it would have been back to normal  
15          eventually.

16   Q       How long?

17   A       Well, the rehabilitation period would be at  
18          least another six months in her case.

19   Q       And when do you feel that she could have safely  
20          undergone surgery to remove the remaining wire?

21   A       As long as possible after the three months'  
22          period. I would prefer actually after six months.

23   Q       Do you have any opinion that Dr. Moasis was  
24          negligent in the postoperative period?

25   A       I really can't blame him as long as he wasn't

1 notified of what the situation was.

2 Q Do you have any opinion that Dr. Steele was  
3 negligent in the postoperative period?

4 A The same reason.

5 Q No?

6 A No.

7 Q Do you have any other opinions other than what  
8 we have discussed already about Dr. Moasis being  
9 negligent in his involvement with Mrs. Weitzel?

10 A No.

11 Q And how about any other opinions with respect to  
12 Dr. Steele and his negligence and his involvement  
13 with Mrs. Weitzel other than what we have  
14 discussed already in the deposition?

15 A No, nothing else.

16 MR. SEIBEL: I don't have  
17 anything further.

18 MR. WARNER: I want to go  
19 about three minutes and we will get out of  
20 here.

21 CROSS-EXAMINATION OF PAUL M. KOHN, M.D.

22 BY MR. WARNER:

23 Q Doctor, I represent the radiologist.

24 In your report, on Page 2, you said that the  
25 first chest x-ray that noted **two** guide wires was

1 March the 1st. The last paragraph of your second  
2 page.

3 And then you go on to say that apparently  
4 the attendings actually become physically aware  
5 of this on or about March the 8th.

6 So it's that seven-day delay period that I'm  
7 talking about. Did that seven-day delay in which  
8 the attending physician finally becomes aware  
9 that two guide wires result in damage to Mrs.  
10 Weitzel?

11 A No.

12 Q Did not.

13 And in fact you've already stated that those  
14 wires or that wire could have been left for  
15 several months?

16 A That's correct.

17 Q And that none of the complications, that is the  
18 embolism, infection, perforation that you talked  
19 about as possibilities, none of those possibilitie  
20 occurred, at least during that seven-day period?

21 A That's true.

22 MR. WARNER: Thank you.  
23 Nothing else.

24 MR. SEIBEL: Doctor, before I  
25 leave, remember, when he asked you those

1                    questions, he's representing Dr. Varma in  
2                    this case.

3                    THE WITNESS:                    Okay, I'll  
4                    remember that.

5                    - - - - -  
6                    (Mr. Seibel and Mr. Warner left  
7                    the deposition room.)

8                    - - - - -  
9                    CROSS-EXAMINATION OF PAUL M. KOHN, M.D.

10 BY MR. COYNE:

11 Q        Doctor, relative to the designation in a hospital  
12        chart of a patient being critical, what does that  
13        mean?

14 A        As the word itself implies, her general condition  
15        is extremely poor and there's always a possibility  
16        of further deterioration and death.

17 Q        Mrs. Weitzel's condition as chronicled in the  
18        chart from the time she was admitted to Charity  
19        until the time she passed away remained critical,  
20        correct?

21 A        Yes.

22 Q        From the time that she was admitted into Charity  
23        and in fact even at the Samaritan Hospital  
24        before her admission, she was on a ventilator,  
25        correct?

1 A Yes.

2 Q And a ventilator is needed for what?

3 A To ensure that there is proper oxygenation.

4 Q In other words, she couldn't breathe on her own,  
5 correct?

6 A I know it was felt that she couldn't breathe on  
7 her own adequately to oxygenate herself.

8 Q And to remove her from the ventilator would have  
9 been a life-threatening situation for her?

10 A Yes.

11 Q Are you familiar with the survival rate for  
12 patients who suffer cardiac arrests secondary to  
13 ventricular fibrillation when bystanders do not  
14 initiate cardiopulmonary resuscitation?

15 MR. KAMPINSKI: Who subsequently  
16 survive and are in a hospital setting or  
17 other hospital patients who don't survive?  
18 Because obviously he doesn't understand.

19 MR. COYNE: What's the differ-  
20 ence?

21 I'd like him to answer it first.

22 MR. KAMPINSKI: Well, I want him  
23 to understand. Because he nodded his head  
24 'yes'.

25 The important thing is if he understands  
it.

1 A Yes. I would say if the statistics include  
2 prior to hospitalization and the hospitalizations,  
3 of course the figures are going to be much higher.  
4 If the patient is able to get to a hospital and  
5 proper methods of resuscitation are produced, then  
6 the probabilities of course for death are much  
7 less. In any event, it would probably be fifty  
8 percent.

9 Q In your opinion, it would be fifty percent?

10 A Yes.

11 Q That's assuming they get to the hospital too?

12 A Yes.

13 Q Obviously if they don't get to the hospital in  
14 very good time, you're in --

15 A I say that's included. It would even be higher.

16 Q This patient was defibrillated approximately  
17 seventeen times. You are aware of that, Doctor?

18 A Yes.

19 Q What was the need for defibrillation, why was  
20 that done?

21 A Because she had persistent recurrent ventricular  
22 fibrillation and ventricular tachycardia.

23 Q Was that necessitated because the heart stops  
24 beating?

25 A It doesn't stop beating. The heart goes very, very

1 fast so that it is no longer able to efficiently  
2 provide adequate cardiac output. So it is not  
3 necessarily in cardiac standstill. That is one  
4 possibility.

5 In her particular case it wasn't cardiac  
6 standstill, it was fibrillation and tachycardia.

7 Q What if there is no pulse?

8 A You can still have heart activity without a  
9 pulse if there is such poor rejection from the  
10 heart.

11 Q You did see in the records where she was first  
12 treated by the EMS squad, that she had no pulse  
13 when they arrived?

14 A Yes.

15 Q What did that indicate to you?

16 A Just as I said, there was not any significant  
17 cardiac output getting to the radial artery  
18 where you could feel the pulse.

19 Q Are *you* familiar with the statistics regarding  
20 survivorship of a patient with adult respiratory  
21 distress syndrome for a period of one week or  
22 over in a hospital?

23 A Yes.

24 Q And what is that statistic?

25



1 A I'd say that also would be about sixty percent  
2 mortality.

3 Q Are you familiar with the survival rate for  
4 patients with adult respiratory distress and three  
5 or more organ systems are involved over a one-  
6 week period of time?

7 A It would certainly be more than sixty percent.

8 Q This patient -- we're talking about Mrs. Weitzel--  
9 she had a pacemaker installed, didn't she?

10 A Yes, I think so, a temporary pacemaker.

11 Q Okay. And what was the need for the pacemaker  
12 to be installed?

13 A In case she would develop cardiac standstill,  
14 very, very mild tachycardia, slow pulse.

15 Q And it would assist in rhythmic beating of the  
16 heart, a pacemaker, at a proper rate?

17 A Yes, of course.

18 Basically the only time a pacemaker would  
19 be involved is if the -- where you set the rate  
20 at.

21 Let's assume that rate is set at least  
22 eighty beats per minute and the patient's heart  
23 rate falls spontaneously below that figure, then  
24 the pacemaker kicks in and prevents the heart  
25 rate from getting any lower than the setting.

1 Q Was the pacemaker removed prior to her demise?

2 A I don't recall.

3 Q I believe on February 28th of 1991 she had a  
4 tracheostomy. You are familiar with that also?

5 A Yes.

6 Q And the need for the tracheostomy was what?

7 A To continue with using the respirator to prevent  
8 any further damage to the pharynx and larynx  
9 produced by the tube the patient had intubated.

10 Q Are you familiar also that prior to the surgery  
11 of March 14th, I believe it was on March 13th,  
12 she had been tested and cultured positive for  
13 Pseudomonas?

14 a Yes.

15 Q And I believe there was a positive culture in her  
16 urine at the tracheostomy site, at the area of  
17 the swan-ganz line; do you recall that?

18 A Yes.

19 Q And Pseudomonas is what?

20 A It's a bad germ, bacteria.

21 Q And that in and of itself can be fatal if not  
22 properly treated?

23 A If not properly treated, yes.

24 Fortunately we do have effective antibiotics  
25 for Pseudomonas.

1 Q Did the treatment of steroids here cause any  
2 medical complications in your professional medical  
3 opinion?

4 A No. I think the only thing it did really is to  
5 confuse the doctors, because one of the things  
6 that they were concerned about, the question of  
7 sepsis was a high white count.

8 And one of the things you find with sepsis  
9 of course is a high white count.

10 But on the other hand, if a person is getting  
11 steroids, that alone can cause the white blood  
12 count to be high.

13 So, as I say, that would just sort of mislead  
14 the doctors who are not familiar with that  
15 particular background of steroids.

16 Q Based on your review of the chart, Doctor, and  
17 prior to the surgery of March 14th, was she  
18 hemodynamically stable?

19 A Just prior to surgery?

20 Q Yes, prior to the surgery of March 14th.

21 A I would say so.

22 Q Over the few days preceding that, on your review  
23 of the chart, do you believe she was hemodynamic-  
24 cally stable?

25 A As I recall, yes.

1 Q Have you reviewed the x-rays in this case by the  
2 way?

3 A I did not review the x-rays per se, however I  
4 have reviewed all the x-ray reports.

5 Q But as far as the actual --

6 A Not the actual films, no.

7 Q In regard to the decision to go ahead with the  
8 surgery on March 14th, that was a decision to be  
9 made primarily by the attending physician; is  
10 that true?

11 A Yes.

12 Q That would be Dr. Steele?

13 A Yes.

14 Q When one considers a surgical procedure requiring  
15 general anesthesia as in this particular case,  
16 is it incumbent upon the attending physician to  
17 evaluate all of the patient's symptoms and  
18 systems before deciding to go ahead with the  
19 procedure?

20 A Yes.

21 Q And you have indicated -- and I believe quite  
22 accurately so -- that one of the things you  
23 would consider would be the fact that this was  
a postmyocardial infarction just approximately  
25 thirty days after the event, correct?

1 A Yes.

2 Q And that would be one of the criteria or  
3 symptoms that you believe would contraindicate  
4 elective surgery such as this, correct?

5 A Yes.

6 Q Now would an attending physician also in a  
7 patient that had adult respiratory distress  
8 syndrome discuss the pulmonary aspects of the  
9 patient with the attending pulmonologist?

10 A Yes, he should have.

11 Q Should have, okay.

12 Would the attending physician who is  
13 dutiful and concerned about his client or patient  
14 also discuss the infection with the infectious  
15 disease specialist?

16 A Yes.

17 Q And that should have been done, is that correct?

18 A Yes.

19 Q Is it then the attending physician's responsibility  
20 to take into consideration the post myocardial  
21 event, the adult respiratory distress syndrome,  
22 the Pseudomonas, and package all of those  
23 together in evaluating the patient as to  
whether or not that patient would be acceptable  
for surgery?

MR. KAMPINSKI: I just want to interject one thing, Mr. Coyne.

You might be right in that characterization, however I just don't recall precisely when the culture was reported in terms of the Pseudomonas, whether that was prior to or after the surgery.

But it is clear that it was drawn before. I just, I don't recall when it was reported.

MR. COYNE: I may be wrong, but my recollection is that it was diagnosed on March 13th.

MR. KAMPINSKI: You might be right. I'm not arguing with you, I just don't recall.

a In other words, the evaluation of the patient would include not only the post myocardial infarction, but the presence of the adult respiratory distress syndrome and pneumonia and the Pseudomonas had it been at least diagnosed and found prior to the surgery of March 14th, correct?

A Absolutely.

Q And it's when you package all these things

1 together apparently that you believe that this  
2 patient was not a candidate for elective surgery  
3 such as removing the wire; is that true?

4 A Undoubtedly.

5 Q And the decision -- by the way, that decision to  
6 go ahead with the surgery by Dr. Steele and the  
7 symptoms that were present in this patient is  
8 something that the surgeon should also consider  
9 and evaluate before he agrees to go ahead with  
10 it; isn't that true?

11 A I agree.

12 Q So if I understand you correctly then, the  
13 decision by Dr. Steele and Dr. Hoasis to go ahead  
14 with the surgery was substandard care in this  
15 case?

16 A Yes, sir.

17 Q And it's your professional opinion that the  
18 surgery directly and proximally contributed to  
19 the ultimate demise of Mrs. Weitzel, correct?

20 A Absolutely.

21 Q I am looking at the second page of your report,  
22 in the second paragraph, Dr. Kohn, directing  
23 your attention to the second sentence there in  
24 your report, you say, "Another factor increasing  
25 the risk of surgery in Mrs. Weitzel's case was

1 the preoperative electrocardiogram dated March  
2 14th which showed ST segment depression in the  
3 inferior leads."

4 That apparently was another risk over and  
5 above these other things that we talked about  
6 as far as contraindicating surgery at this time?

7 A Well, yes and no. I mean, I think that it was  
8 there because of the fact that she had a myocardial  
9 infarction and that close to the acute episode,  
10 and that was just one of the clearer manifestations  
11 of her recent myocardial infarction.

12 Q You go on and you say at the beginning of the  
13 next paragraph, "As if this electrocardiographic  
14 change indicative of hemodynamic instability --"  
15 in that paragraph, if I understand you, there is  
16 a question in your mind as to whether or not  
17 she was hemodynamically stable to go ahead with  
18 the surgery on the 14th.

19 A She was not hemodynamically stable enough to go  
20 to surgery, but her hemodynamic stability had  
21 definitely improved up to that time but still was  
22 not at the point where she was a good candidate  
23 for surgery.

24 Q All right, that clarifies those.

25 On Page 3 of your report, about the fifth



1 line down, you make the observation apparently  
2 on a review of the Coroner's Report or Autopsy  
3 Report in this case that, "Examination of the  
4 coronary arteries revealed stenosing calcific  
5 atherosclerosis with near complete occlusion of  
6 the anterior descending branch of the left  
7 coronary artery."

8 That was one of the things that was found  
9 on autopsy, right?

10 A Yes.

11 Q Would that have required surgery in the near  
12 future had Mrs. Weitzel survived the event at  
13 the hospital?

14 A Not necessarily.

15 The reason I say that is because there were  
16 circumflexed and the right coronary arteries  
17 were essentially normal, and consequently there  
18 was obviously some covering that covered the  
19 remainder of the heart muscle.

20 The reason I say that, because the actual  
21 infarct itself, area of the infarct was relatively  
22 small.

23 So I believe that the other arteries that  
24 were completely normal could have perfused enough  
25 myocardium so that only a small, relatively small

1 area, two by one inch, was the result.

2 So consequently, that being the case, it's  
3 entirely possible that she could have been  
4 managed afterwards with medication, or even  
5 without medication, depending on the situation.

6 But I also said that in the event that she  
7 would have any problem such as post myocardial  
8 infarction angina which could not be completely  
9 covered by medication and controlled by medication,  
10 or if she developed some kind of a problem with  
11 the rhythm that could not be controlled by  
12 medication, and if in deed it was determined  
13 that the reason she had the rhythm disturbance  
14 was due to the blockage of the left coronary  
15 artery, then and only then would surgery be  
16 required.

17 Q Bypass of the left anterior coronary artery?

18 A Yes. So consequently I would say that, in my  
19 opinion I would say that the necessity for post  
20 myocardial infarction, coronary bypass surgery  
21 would be very, very low, the probability would  
22 be very, very low for that requirement.

23 Q Doctor, have you any opinion regarding whether  
24 or not another surgery after the 14th would  
25 have been reasonably necessary to correct the

1 internal bleeding that was going on following  
2 the surgical procedure by Dr. Moasis had it been  
3 discovered?

4 A I would think so.

5 Q You've indicated you believe that the internal  
6 bleeding started probably within a few hours after  
7 the surgery, correct?

8 A Yes.

9 Q And we know of course it stops at death, correct?

10 A Yes.

11 Q Presumably had she survived that internal bleeding  
12 would have to be corrected by some further surgery  
13 following exploratory or whatever, correct?

14 A Very likely.

15 Q She certainly wouldn't have been a good candidate  
16 for yet another surgery to correct the bleeding  
17 postoperatively, would she?

18 A That's true.

19 Q The chances are to a reasonable degree of medical  
20 certainty that had they discovered the internal  
21 bleeding postoperatively, say at 10:00, 11:00 or  
22 midnight of that same evening and had to go back  
23 in, she probably wouldn't have survived another  
24 surgery, would she?

25 A That's very probable.

1 Q Do you have any criticisms of the resident, Dr.  
2 Brooks-James' care and treatment of this patient?

3 A I don't really know how much she was involved with  
4 the care of the patient.

5 Q I'm just wondering if you reached any professional  
6 opinion regarding her care and treatment of this  
7 patient.

8 A I haven't, no.

9 Q Have you rendered or reached any opinion regarding  
10 the care and treatment rendered to this patient  
11 by a resident by the name of Dr. Milah?

12 A Not that I know of.

13 Q Are you going to testify regarding any professional  
14 opinion regarding a cover-up of these particular  
15 wires by anyone other than Dr. Varma in this  
16 case?

17 A No.

18 Q And what is your professional opinion regarding  
19 the cause of death of Mrs. Weitzel?

20 A A combination of the bleed and the cardiac  
21 status, post myocardial infarction.

22 Q Did her cardiac arrest then of February 11th  
23 contribute to her demise partially?

24 A Well, only insofar as it was a result of the  
25 myocardial infarction. I would think it probably,

1 there was a further effect on the heart function  
2 by having been defibrillated seventeen times.  
3 So that just added insult to injury.

4 Q By the way, on autopsy I believe it indicated  
5 the heart of Mrs. Weitzel was enlarged; did you  
6 observe that?

7 A I didn't -- yes, that's what it said, but there  
8 was no actual weight.

9 THE WITNESS: Do you have her  
10 autopsy report there?

11 Let me see what the heart weighed.

12 (Examining report.)

13 A 396. Yes, that's an enlargement.

14 Q That is an abnormal condition of the heart, correct?

15 A Yes.

16 Q And does an enlarged heart such as she had impose  
17 any risk to her health and well-being even had  
18 she come out of the hospital and say that the  
19 wires were never even in her?

20 A No. There's remodeling that takes place after  
21 myocardial infarction, but with proper medication,  
22 if necessary, these kinds of people can actually  
23 get their heart muscles back to normal and also  
23 the weight of the heart and the size of the heart  
25 can also get smaller again, back towards normal.

Now the only question in my mind is about her blood pressure reading prior to surgery.

I don't think we have any evidence of it, do we?

In other words, the question is, why did she have the enlarged heart in the first place.

That's one of the questions you are asking.

I don't remember seeing any data of having been examined prior or been treated for hypertension. I don't think she was.

Q Prior to her --

A Was she treated for hypertension?

Q Yes. She was on medication prior to her heart attack for high blood pressure.

A If that's the case, that was also probably the reason that she had an enlarged heart.

Q Do you have an opinion whether or not the adult respiratory distress syndrome that she had for several weeks prior to her demise contributed to her death?

MR. KAMPINSKI: Now, look, I'm going to object at this point.

She obviously had conditions, and you're asking if the conditions contributed to her death. He's already answered that

1 had the negligence not occurred, she would  
4 have survived and been fine.

MR. COYNE: I understand, but  
I'm asking whether or not in his professional  
opinion the adult respiratory distress  
syndrome that she had which we know can be  
fatal in some patients contributed.

8 A Yes, I think it contributed.

9 MR. COYNE: All right. Thank  
10 you.

11 I have no further questions.

12 CROSS-EXAMINATION OF PAUL M. KOHN, M.D.

13 BY MS BITTENCE:

14 Q Doctor, at the trial do you intend to offer an  
15 opinion with respect to Dr. Varma's training?

16 A If I'm asked, I suppose I'll have to respond.

17 MS BITTENCE: Then my question  
18 goes to you because I don't want to go into  
19 it if you don't.

20 MR. KAMPINSKI: Sure. Go ahead.

21 I am going to go into it.

22 Q Doctor, do you have an opinion with respect to  
23 the training that Dr. Varma received at the  
24 Cleveland Clinic?

25 A Well, as it applies to this particular case,

1 as you probably know, that he never had any  
2 training in inserting a femoral line or any  
3 experience with it by the time he got to St.  
4 Vincent's Charity, so in that respect, there was  
5 certainly a deficit in his training.

6 Q And what is the basis for your opinion on that?

7 A Well, I looked over his log, training log, and  
8 there was no entry while he was at the Cleveland  
9 Clinic that he was trained at all or had exper-  
10 ience at all in obtaining a line in a femoral  
11 artery.

12 Q Do you recall what his logbook said with respect  
13 to his experience with inserting swan-ganz  
14 catheters?

15 A As I recall, I think he did have some experience  
16 with it at the Clinic.

17 Q And do you recall what his logbook said with  
18 respect to his experience with placing central  
19 venous pressure lines?

20 A I think it would be much easier of course if we  
21 had his log in front of us so I could answer  
22 specifically.

23 Q I think it was in the material that was sent to  
24 you.

25 A I'm going from my memory.



(Examining record.)

2 A He started at the Clinic, I think it was in  
3 December of 1990 -- no. September, 1990.

4 December the 10th he had placed a subclavian  
5 central venous pressure line.

6 And then he had another one. No date there.  
7 So he did that again.

8 You asked about -- that's one of the things  
9 you asked me about.

10 Q One of the questions, and I might as well start  
11 with that one, was what your understanding was  
12 of his experience with placing central venous  
13 pressure lines.

14 A Okay. December 10th he had placed one. And  
15 another one subsequently, there was no date for  
16 that one. And then nothing until January 15th.  
17 That of course was already at St. Vincent's.

18 So at the Clinic, he was there until  
19 probably December 31, I would imagine. He went  
20 to St. Vincent's on January 1st I would imagine.  
21 That's the usual ....

22 So he only had two entries for putting in  
23 a subclavian central venous pressure line at  
24 the Cleveland Clinic.

25 Q And does the logbook reflect that he has had

additional experience with placing central venous pressure lines during his training at St.

Vincent's prior to any procedure on Mrs. Weitzel?

A January 15th he put in a line. And then again December 25th he put in a central venous pressure line. Then he put in a swan-ganz January 5th. Another right subclavian January 5th. And a swan-ganz January 10th. C.V.P. line January 23rd. And femoral arterial line January 27th. Radial arterial line January 27th.

And then the next swan-ganz was with Mrs. Weitzel on February 13th.

Q Doctor, do you have an opinion to a reasonable degree of medical probability whether the Cleveland Clinic was negligent in its training of Dr. Varma?

A The only thing I can focus on to answer your question is the fact that he did not have any experience at all in putting in a femoral line and I think that he probably should have had. And that's one of the procedures that a resident should be trained in.

Q Does your opinion rise to a reasonable degree of medical probability?

Do you understand what I am asking?

1 A I don't know what medical probability has to do  
2 with it. That's something that should have been  
3 done by the Cleveland Clinic in training him.

4 Q Are guide wires used in placing the swan-ganz  
5 catheters?

6 A Ordinarily I don't think they have to be in  
7 because that's the venous side and it's not  
8 necessary, just drain in a catheter.

9 Q Can they be?

10 A Sure.

11 Q And that would be within medical standards to  
12 use guide wires to place swan-ganz catheters?

13 A I don't think it's necessary in most cases.

14 Q I understand. But what I'm asking --

15 A The time when it's necessary, yes.

16 If there is some reason they can't do it just  
17 with the soft catheter alone, they have to use  
18 a guide wire.

19 Q Are guide wires used with placement of central  
20 venous pressure lines?

21 A They can be, yes.

22 Q Doctor, is your criticism of the Cleveland Clinic  
23 in this case limited to your understanding that  
24 he did not do any femoral arterial lines prior  
25 to Mrs. Weitzel's?

A Basically, yes.

Q If in fact -- and this is just a hypothetical -- if in fact Dr. Varma is just not a good logkeeper, but he did do femoral arterial lines prior to Mrs. Weitzel's, would that change your opinion?

A If he had previous experience at the Cleveland Clinic you are talking about?

Q Correct.

A Sure, I would change my opinion.

Q Doctor, are you familiar with the training at the Cleveland Clinic?

A Not really.

MS BITTENCE: That's all I have.

CROSS-EXAMINATION OF PAUL M. KOHN, M.D.

BY MR. FULTON:

Q Well, Doctor, as I indicated before, I am counsel for Dr. Moasis.

I was going to ask you that in the event you had a patient the condition that Mrs. Weitzel was in, who had to undergo an operation, and you were the consulting attending cardiologist, would you not normally call back after the surgery and make some inquiry into her condition?

A Well, usually what happens is that the surgeon

will call me after he finishes his surgery and  
tells me what he did and how she got along in  
the surgery and if there were any problems or  
not.

And so that is my own experience with surgeons.

And if everything went well during the  
surgery, that I presume it did here, then Dr.  
Moasis left for the day and she was -- he must  
have seen her just before he left, and she was  
okay, I wouldn't expect him to tell Dr. Steele,  
you better come to see her.

I don't think Dr. Steele would have come  
unless it was necessary for him to use some  
medical input into the situation.

Q I am going to hand you these records.

(Discussion had off the record.)

Q Now, look, I am going to have Mr. Kampinski read  
along with me because I have a typed version of  
a note by Dr. Varma.

And this is a note of Dr. Varma right here,  
from here to here.

MR. COYNE: Do you want to  
put the date on it?

Q 3/8/91. And it says down here, I think it says  
'9:00 P.M.'

1 And you may disagree. You know, I am trying  
2 to read all of the typed version. I want to be  
3 sure that's what it says.

4 A Go ahead.

5 Q It says, 'Above events noted. Patient has on  
6 CXR persistent wire which is not explainable.

7 'After reviewing CXR, this wire was not  
8 present on CXR on the date of 2/26,' is it?

9 A 2/24.

10 Q 2/26. 2/24, but there is some question.

11 MR. KAMPINSKI: I can't tell.

12 I don't remember what he said.

13 Q But on 2/28/91 the wire was present?

14 A Yes.

15 Q It says, 'On 2/26/91 femoral arterial line was  
16 placed and subsequent to that wire was present'.

17 MR. KAMPINSKI: It's hard to tell  
18 what it says. I don't see a 'Q' in there.

19 Well, there's a word there that we  
20 don't necessarily agree with, but we'll say  
21 that around that word is an 'and' and a 'to'.

22 Q All right. It also says, 'Possibilities include  
23 guide wire remains in which I felt because I  
24 did procedure myself. It is possible the sheath  
25 was left in, which I doubt. Will discuss with

staff. Will need removal --'

MR. KAMPINSKI: Well, you missed  
a word.

MR. FULTON: 'It's possible  
the sheath was left in, which I doubt.'

MR. KAMPINSKI: No. After 'staff'  
there's a word here. 'Possible --'

THE WITNESS: Probable?

MR. KAMPINSKI: It may be that  
a word is crossed out there.

MR. FULTON: There is a word  
crossed out and there may be a word underneath  
it.

Q But it says, 'Will need --'

A Removal.

Q ' via fluoroscopy.'

A Fluoroscopy.

Q All right. And it says, 'Discuss with doctor --'

A Fluoroscopy.

Q But that will indicate that at least as of that  
date that Dr. Varma made a note on March the  
8th, 1991 that there is a possibility that a  
guide wire could remain in there.

MR. KAMPINSKI: Well, it's not  
a possibility. It was there. The guide

1 wire was there, right?

2 THE WITNESS: Yes.

3 Q Now on that date, on the 9th, there is a note  
4 here, 'Kitchen and Rollins.' And that does say,  
5 'Reviewed x-rays. Guide wire prolapsed on  
6 itself from left iliac up to base of neck'.  
7 There's a question mark. And then it says,  
8 what?

9 A Intervertebral.

10 MR. KAMPINSKI: I.C. or vertebral.

11 A Intercarotic or vertebral. I.C. or intercarotic.

12 Q All right.

13 A 'and has apparently been in place ten days.'

14 Q And then it says, 'wire snapped in two in neck.  
15 Dr. Rollins aware yesterday and because of --'

16 Is that 'the patient's condition'?

17 A Yes, right.

18 Q 'discussed --'

19 A 'decision not to attempt removal at this time  
20 and will discuss again with him today.'

21 Q 'Discussed options with Dr. Khaddam.'

22 Now on 3/13, we will get to that.

23 All right. on 3/13, over here, there is a  
24 note, and what does it state?

25 A 'Wire retrieved.'



MR. KAMPINSKI: Retrieval.

Q Retrieval.

A Wire retrieval, yes.

Q And it says, 'No. 3 --'

A Sheath.

Q 'inserted in left femoral --'

A 'artery. Snare using --'

Q 'Snare using N.I.H. catheter'?

A In area of wire.

Q 'One --' isn't that 'One'? 'One wire --'

A 'One wire was successfully snared and removed, but the other piece could not be snared -- could not be snagged.'

Q Then he goes on to the next page and says what?

A 'Will ask vascular surgeon to see to make sure femoral artery is okay and to discuss options for removal of other piece of wire.'

Q 'other piece of wire.'

So there was some discussion back then among the cardiologists that one wire had broken and that there had been another piece left in there, is that not true? According to the records.

A That's what it sounds like, yes.

Q Did you get Dr. Smead's report?

1 A No.

2 Well, this is a report from Dr. Smead addressed  
3 to me on March 30, 1993.

4 Now I've underlined in it in red just  
5 certain portions.

6 And I'd like you to read this.

7 MR. KAMPINSKI: To himself?

8 MR. FULTON: The last time  
9 I asked him to read to himself, I'd like  
10 to have it read on the record.

11 MR. KAMPINSKI: Well, do you  
12 just want him to read something. If we  
13 knew what your purpose of doing it --

14 MR. FULTON: First he's going  
15 to read this and I'm going to ask if he  
16 agrees with that.

17 MR. KAMPINSKI: Then he doesn't  
18 have to read it out loud, does he?

19 I mean, is it an attempt by you to  
20 somehow interject your expert's testimony  
21 into Dr. Kohn's deposition?

22 MR. FULTON: I wouldn't do  
23 that.

24 MR. KAMPINSKI: No, of course  
25 not.

1 MR. KAMPINSKI: Just ask him a  
2 question.

3 MR. FULTON: I am going to ask  
4 him a question.

5 Q I want to know if you agree with Dr. Smead's  
6 statement here.

7 MR. KAMPINSKI: I object.

8 Q Okay, so I go along....

9 "Her hospital course was marked by multi-  
10 system organ failure and sepsis. Organ systems  
11 involved included heart (congestive failure),  
12 lungs (ARDS), liver (hepatosplenomegaly with  
13 abnormal liver function studies), and brain  
14 (obtundation, abnormal brain stem reflexes, and  
15 motor deficits). She developed septic complica-  
16 tions early in her hospitalization and required  
17 prolonged antibiotic therapy until her death.  
18 She required tracheostomy for long term ventilatory  
19 support."

20 Do you agree with that statement?

21 A Yes.

22 Q And on Page 2 he states, "In most cases these  
23 intraluminal foreign bodies can be safely removed  
24 by percutaneous techniques utilizing a snare  
25 device."

1 Do you agree with that?

2 A Yes.

3 Q And he says, "This was indeed successful in  
4 removing one of the two wires. In my opinion  
5 additional attempts, perhaps by an interventiona-  
6 list with greater experience, would have been  
7 preferable to any surgical attempt at retrieval  
8 in this critically ill woman. "

9 Do you agree with that?

10 A Yes.

11 Q And "The operative mortality rate in patients  
12 with recent myocardial infarction (less than  
13 three to six months) is markedly increased and  
14 should not be undertaken without urgent indica-  
15 tions and in circumstances in which no alternative  
16 methods of treatment are available."

17 Do you agree with that?

18 A Yes.

19 Q And do you agree with, "Postoperatively, the  
20 patient sustained another cardiac arrest from  
21 which she could not be resuscitated."?

22 A Yes.

23 Q Okay. And on the autopsy, I just want to be sure  
24 I understand it, here they're talking about the  
25 cardiovascular. And they answered it, the heart

was enlarged and answered various questions about that. And there was a severe stenosing calcific atherosclerosis.

That was probably true, was that not?

A In the left anterior descending coronary artery.

Q Now down here the statement is, 'The affected area --' Read above that. They talk about a one-inch to two-inch affected area. Is that the size of the myocardial infarct?

A Yes.

Q I thought you said it was rather small.

Isn't that pretty large, one by two inches?

A That's pretty small.

Q One by two inches?

A Yes.

Q Are they describing like a, as a physician, does that mean like a square or one to two inches of a circular?

A. I would say it is -- I don't know whether it's a circle or square. It probably was more like a circle or ellipse or something like that.

But in any event, this is a relatively small area.

Q What's a whole heart measure?

Four to six inches?

1 A Well, at least.

2 Probably six or seven inches by maybe --  
3 it's probably more than that -- four or five  
4 inches.

5 P These last couple of questions I think I may be  
6 repeating something Mr. Coyne asked you, but  
7 I'll make it quick.

8 CPR means what?

9 A Cardiac pulmonary resuscitation.

10 Q ACLS is what? Assisted cardiac something?

11 Does that mean anything to you?

12 ACLS, is that assisted cardiac --

13 A That's probably the technique authorized.

14 Q Assisted cardiac life-saving technique or  
15 something like that?

16 a I don't know the answer.

17 3 Well, let me ask you this: If indeed you got  
18 between eight to twelve minutes before you get  
19 CPR, would you agree that there's a --

20 MR. KAMPINSKI: Eight to ten  
21 minutes of what?

22 MR. FULTON: Before you get to  
23 CPR.

24 3 For eight to twelve minutes a person doesn't get  
25 any CPR, their survival rate is probably no

1 greater than six percent.

2 Would you agree with that?

3 A No. Their survival rate can be, as far as being  
4 alive is concerned, much more than that, but  
5 there can be certainly damage to the brain.

6 Q And if you have unattended ventricular fibrillation,  
7 that can lead to some brain damage?

8 MR. KAMPINSKI: You're asking  
9 hypothetically?

10 Q Yes, hypothetically.

11 A Yes.

12 Q And signs of this, say, irreversible brain damage,  
13 can that be shown by convulsions? Is that one  
14 of the signs?

15 A No, that wouldn't necessarily indicate -- no.

16 Q I didn't mean indicate, but if a person did have,  
17 say, irreversible brain damage, could a sign --

18 A Could there be a sign?

19 Q Convulsion?

20 A Yes, there could be convulsion.

21 Q How about a positive Babinski?

22 A That shows there is an effect on the brain, yes.

23 Q How about a dilation of the pupils?

24 A The same.

25 Q If a person is in a state of coma for a couple

of days and then awakes, is there usually some  
loss of motor ability?

A She was seen --

Q I'm talking hypothetically.

MR. KAMPINSKI: Let him answer  
the question.

MR. FULTON: I will. I'm now  
talking hypothetically.

A What is your hypothetical question again now?

Q Well, I'm saying --

MR. FULTON: Let him answer.

-- if, you know, a person's in a coma for two  
days, say, just not awake --

A Yes.

-- is there a good probability that such a person  
will have some motor deficiencies?

A Again, not necessarily.

Q How about cognitive deficiencies? Nada?

A What?

Q Cognitive.

A Cognitive?

Q Yes; two days' coma.

A I say again, may or may not be, depending upon  
how much damage is done to the brain.

Q How about if after defibrillation the heartbeat



1 goes below sixty; does that indicate there's  
2 a very slight chance of survival?

3 A Below sixty. Say fifty-nine. No, no problem.

4 Q Where do you say the problem exists if a person  
5 has been defibrillated, at what point the  
6 heartbeat goes below which?

7 Below forty I would say.

8 3 You indeed indicated on your direct examination  
9 that of course if the lines hadn't been in there,  
10 you wouldn't have had the surgery.

11 A That's true.

12 Q I'm just going to ask you these last couple  
13 hypotheticals. But before I ask that, you did  
14 say that, "But the surgery is what killed her."

15 Those are my notes.

16 A Yes.

17 Q And that it should not have been undertaken and  
18 the cardiologist and the surgeon should have come  
19 to that conclusion based on reasonable probability.

20 A Yes.

21 Q Now we do know that one wire was retrieved?

22 A Yes.

23 A If indeed that was the only wire that Dr. Varma  
24 put in -- and this is a hypothetical -- then you  
25 would have to change your opinion about the

1 proximate cause, would you not?

2 A Yes, I would have to.

3 MR. FULTON: That's the only  
4 question I have.

5 MR. COYNE: Do you want to  
6 read this, Doctor, or do you want to waive  
7 signature?

8 THE WITNESS: I'll waive  
9 signature.

10 I wouldn't mind having a copy, though.

11 MR. KAMPINSKI: Why don't you  
12 read it?

13 Submit it. I will take a copy.  
14 I will get it to the Doctor for his review.  
15 Okay? Is there any problem with that?

16 MR. COYNE: No.

17 - - - - -

18 (It was stipulated by and between  
19 counsel that a letter concerning  
20 signature of the witness is waived.)

21 - - - - -

22

23

24

25

I, Paul M. Kohn, M.D., do hereby certify that I have read the foregoing transcript of my deposition this \_\_\_\_\_ day of \_\_\_\_\_, 19\_\_\_\_, and believe the same to be true and correct (or, except as follows, noting the page and line number of the change or addition as desired and the reason why):

PAGE	LINE	CHANGE
------	------	--------

Dated this \_\_\_\_\_ day \_\_\_\_\_  
of \_\_\_\_\_, 19\_\_\_\_.


Paul M. Kohn, M.D.

## CERTIFICATE

The State of Ohio, )  
 ) SS:  
 County of Cuyahoga. )

I, Marie L. Larbig, a Notary Public within and  
 for the State of Ohio, duly commissioned and qualified,  
 do hereby certify that the above-named PAUL M. KOHN,  
 M.D. was by me, before the giving of his deposition,  
 first duly sworn to testify the truth, the whole truth,  
 and nothing but the truth; that the deposition as above  
 set forth was reduced to writing by me by means of  
 Stenotypy, and was later transcribed into typewriting  
 by me, and is a true record of the testimony given by  
 the witness; that said deposition was taken on Thursday,  
 the 8th day of April, A.D. 1993, in the City of  
 Cleveland, County of Cuyahoga, and State of Ohio,  
 pursuant to notice, and was completed without adjourn-  
 ment; that I am not a relative or attorney of any of  
 the parties or otherwise interested in this action.

IN WITNESS WHEREOF, I hereunto set my hand and  
 affix my seal of office at Cleveland, Ohio, this 15<sup>th</sup>  
 day of April, A.D. 1993.

  
 Marie L. Larbig, Notary Public  
 within and for the State of Ohio

My Commission expires  
 July 22, 1993.