

1                   IN THE COURT OF COMMON PLEAS  
2                   OF CUYAHOGA COUNTY, OHIO

3                   - - - - -  
4                   KEVIN KISS, a minor, by and  
5                   through his next friend  
6                   and natural mother, Anne Kiss,  
7                   et al.,

8                   Plaintiffs,

9                   vs.

Case No.

10                  ANDREAS MARCOTTY, M.D.,  
11                  et al.,

402393

12                  Defendants.  
13                  - - - - -

14                  DEPOSITION OF GREGORY S. KOSMORSKY, D.O.  
15                  Monday, January 28, 2001  
16                  - - - - -

17                  Deposition of GREGORY S. KOSMORSKY,  
18                  D.O., a witness herein, called by the Plaintiffs  
19                  for examination under the statute, taken before  
20                  me, Karen M. Patterson, a Registered Merit  
21                  Reporter and Notary Public in and for the State  
22                  of Ohio, pursuant to notice and stipulations of  
23                  counsel, at the offices of The Davis Eye Clinic,  
24                  789 Graham Road, Cuyahoga Falls, Ohio, on the day  
25                  and date set forth above, at 1:25 o'clock p.m.  
26                  - - - - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES:

On behalf of the Plaintiffs:

Becker & Mishkind Co., L.P.A., by  
JEANNE M. TOSTI, ESQ.  
Suite 660 Skylight Office Tower  
1660 West Second Street  
Cleveland, Ohio 44113  
(16) 241-2600

On behalf of the Defendant Andreas Marcotty,  
M.D.:

Mazanec, Raskin & Ryder Co., L.P.A.,  
by  
D. CHERYL ATWELL, ESQ.  
100 Franklin's Row  
34305 Solon Road  
Cleveland, Ohio 44139  
(440) 248-7906

On behalf of the Defendant Cleveland Clinic  
Foundation:

Roetzel & Andress, by  
ANNA CARULAS, ESQ.  
1375 East Ninth Street  
One Cleveland Center, Tenth Floor  
Cleveland, Ohio 44114  
(216) 623-0150

On behalf of the Defendant Signature Eye  
Associates:

Ulmer & Berne LLP, by  
PAMELA E. LOESEL, ESQ.  
900 Bond Court Building  
1300 East Ninth Street  
Cleveland, Ohio 44114  
(216) 621-8400

----

1           GREGORY S. KOSMORSKY, D.O., of lawful age,  
2   called for examination, as provided by the Ohio  
3   Rules of Civil Procedure, being by me first duly  
4   sworn, as hereinafter certified, deposed and said  
5   as follows:

6           EXAMINATION OF GREGORY S. KOSMORSKY, D.O.  
7   BY MS. TOSTI:

8           Q.     Doctor, would you please state your  
9   full name for us.

10          A.     Gregory Stephen Kosmorsky.

11          Q.     And your home address?

12          A.     460 Lassiter Drive, Highland Heights,  
13   Ohio.

14          Q.     Zip code?

15          A.     44143.

16          Q.     Is that a single-family home?

17          A.     Yes.

18          Q.     And your current business address?

19          A.     789 Graham Road, Cuyahoga Falls,  
20   44221.

21          Q.     And at the time that you rendered care  
22   to Kevin Kiss, what was your business address?

23          A.     Cleveland Clinic Foundation.

24          Q.     The main campus?

25          A.     Yes.

1 Q. In July of 1998, were you seeing  
2 patients anywhere else besides the main campus of  
3 Cleveland Clinic?

4 A. No.

5 Q. Who is your current employer?

6 A. Charles Davis.

7 Q. And who was your employer at the time  
8 that you rendered care to Kevin Kiss?

9 A. Cleveland Clinic Foundation.

10 Q. When did you leave Cleveland Clinic  
11 Foundation, your employment at Cleveland Clinic  
12 Foundation?

13 A. The end of May of 2000.

14 Q. What was the reason that you left?

15 MS. CARULAS: Note my objection. Go  
16 ahead.

17 A. Better opportunity for my family.

18 Q. Aside from the professional services  
19 that you provide for Charles Davis, do you  
20 provide professional services for any other  
21 entity?

22 A. No.

23 Q. And in July of 1998, aside from the  
24 services that you provided for Cleveland Clinic  
25 Foundation, professional services, did you

1 provide services for any other entity?

2 A. No.

3 Q. Have you ever had your deposition  
4 taken before?

5 A. Yes.

6 Q. How many times?

7 A. I don't recall.

8 Q. Approximately, doctor.

9 A. Ten.

10 Q. How many of those were in medical  
11 negligence actions?

12 MS. CARULAS: Note my objection, and  
13 we'll have a continuing line of objection. Go  
14 ahead.

15 A. I don't specifically know. In what  
16 reference? Me as the Plaintiff's person or --

17 Q. No. Just whether the case was a  
18 medical negligence action that your deposition  
19 was taken in.

20 A. I think they all were.

21 Q. Now, I want to go through some of the  
22 general instructions for deposition. I'm sure  
23 counsel has had a chance to talk with you. This  
24 is a question-and-answer session. It's under  
25 oath, It's important that you understand my

1 questions. If you don't understand my questions,  
2 or if I've phrased them inartfully, let me know,  
3 and I'll be happy to rephrase the question or to  
4 repeat the question. Otherwise, I'm going to  
5 assume that you understood my question and that  
6 you're able to answer it.

7 It's important that you give all of  
8 your answers verbally because our court reporter  
9 cannot take down head nods or hand motions.

10 If at some point you would like to  
11 refer to the medical records, please feel free to  
12 do so.

13 During the course of this deposition,  
14 one of the defense counsel may choose to enter an  
15 objection. You're still required to answer my  
16 question unless counsel instructs you not to **do**  
17 so. Do you understand those instructions?

18 A. Yes.

19 Q. Doctor, have you ever been named as a  
20 Defendant in a medical negligence case?

21 A. Once.

22 Q. In that case, what was the allegation  
23 of negligence?

24 A. Poor outcome after surgery.

25 Q. What type of surgery?

1           A.       Decompressive surgery of the orbits  
2       for thyroid eye disease.

3           Q.       Where was that case filed?

4           A.       Cleveland Clinic.

5           Q.       Was it in Cuyahoga County?

6           A.       I wouldn't be able to tell you that.

7           Q.       How was the case resolved?

8           A.       Settled out of court.

9           Q.       When was that case in suit?

10          A.       I don't recall.

11          Q.       Approximately how long ago?

12          A.       Ten years.

13          Q.       Have you ever acted as an expert in a  
14       medical negligence proceeding?

15          A.       Yes.

16          Q.       How many times?

17          A.       I would say about nine.

18          Q.       How many times for Plaintiff and how  
19       many times for Defendant were you acting as a  
20       medical expert?

21          A.       I would have no way to recall that.

22          Q.       You don't recall whether you were  
23       testifying for a Defendant or a Plaintiff in the  
24       case?

25          A.       It was always with the Cleveland

1 Clinic, with the lawyers there asking me  
2 questions. I don't -- I have no specific  
3 recollection of who is Plaintiff or who is  
4 Defendant.

5 Q. On the case where you were named as a  
6 Defendant, do you recall the Plaintiff's name in  
7 that case?

8 A. No, I do not.

9 Q. In the cases where you acted as a  
10 medical expert, was Cleveland Clinic one of the  
11 parties in the case?

12 A. At all times.

13 Q. Have you ever given testimony in any  
14 case involving issues dealing with vision loss  
15 from papilledema?

16 A. I don't specifically recall. I can't  
17 recall.

18 MS. ATWELL: I'm going to interject.  
19 I presume, when you said cases, you meant  
20 medical/legal cases?

21 MS. TOSTI: Yes. I think we said  
22 testimony. I don't know of any other case he  
23 would be --

24 MS. ATWELL: I missed that word.  
25 Sorry.



1 Q. Have you ever given testimony in any  
2 case involving vision loss after a fenestration  
3 of a cyst or shunting procedure?

4 A. No.

5 Q. Now, doctor, you are licensed to  
6 practice medicine in the State of Ohio; is that  
7 correct?

8 A. Correct.

9 Q. And were you so licensed at the time  
10 that you rendered care to Kevin Kiss?

11 A. Yes.

12 Q. Are you licensed in any other states?

13 A. No.

14 Q. Have you ever been?

15 A. Yes.

16 Q. What other states?

17 A. Pennsylvania and Missouri.

18 Q. Has your license in Ohio or any other  
19 state been suspended, called into question,  
20 revoked?

21 A. No.

22 Q. Now, doctor, are you board certified  
23 in any areas of medicine?

24 A. Yes.

25 Q. What areas are you board certified

1 in?

2 A. Adult neurology and ophthalmology.

3 Q. When did you receive your  
4 certification in adult neurology?

5 A. 1985, I believe.

6 Q. When did you receive certification in  
7 ophthalmology?

8 A. I think it was 1988.

9 Q. Did you pass both of those  
10 certifications on the first try?

11 A. Yes.

12 Q. Where do you currently have hospital  
13 privileges?

14 A. Cuyahoga Falls Hospital, Akron City,  
15 Akron General, St. Thomas, and the Cleveland  
16 Clinic. I'm a consultant for radiology.

17 Q. I'm sorry, I didn't hear the end of  
18 what you said.

19 A. Consultant for the radiology  
20 department.

21 Q. The hospital privileges that you  
22 mentioned, are those admitting privileges?

23 A. Yes.

24 Q. How about at Cleveland Clinic, do you  
25 have admitting privileges at Cleveland Clinic?

1 A. I don't think so.

2 Q. In regard to the consulting that you  
3 do for radiology at Cleveland Clinic, what is it  
4 that you are consulting on?

5 A. For specific -- a specific type of  
6 surgery.

7 Q. What type of surgery?

8 A. Repair of a cavernous dural fistula  
9 through an orbitotomy approach.

10 Q. Is that something that they're doing a  
11 research study on?

12 A. No.

13 Q. So they --

14 A. It's a service that I had provided at  
15 the Clinic while I was on staff but no one else  
16 can do.

17 Q. How is it that you have particular  
18 expertise in that area?

19 A. Through my training.

20 Q. Is that a surgery that you have  
21 participated in?

22 A. Many times.

23 Q. Now, at the time that you rendered  
24 care to Kevin Kiss, where did you have hospital  
25 privileges?

1 A. At the Cleveland Clinic.

2 Q. Have your hospital privileges ever  
3 been called into question, suspended or revoked?

4 A. No.

5 Q. In July of 1998, did you hold any  
6 administrative positions with the Cleveland  
7 Clinic?

8 A. No.

9 (Interruption.)

10 A. I just got you a portion of my CV, if  
11 that's what you wanted. I didn't put any of the  
12 papers.

13 MS. TOSTI: I would request a complete  
14 copy of his curriculum vitae with publications,  
15 or whatever other materials.

16 THE WITNESS: I can do that. I can  
17 print it out for you before you leave. If you'd  
18 like that now, I can just have it printed.

19 MS. TOSTI: That would be helpful,  
20 because then if I have further questions, we can  
21 do that before we terminate the deposition.

22 (Discussion off the record.)

23 Q. Doctor, you served a residency in  
24 ophthalmology at the Cleveland Clinic Foundation;  
25 is that correct?

1 A. Yes.

2 Q. I have only the beginning portion of  
3 your curriculum vitae at this point in time. In  
4 regard to grants, you have indicated ischemic  
5 optic neuropathy decompression trial. Is that  
6 grant still pending currently?

7 A. No. It's been terminated.

8 Q. Was that a study that you were  
9 involved in?

10 A. Yes.

11 Q. What did that study involve, just in  
12 general terms?

13 A. The assessment of a type of surgery  
14 called an optic nerve sheath fenestration on the  
15 effects of ischemic optic neuropathy.

16 Q. Is that a type of surgery that you  
17 perform or have performed in your practice?

18 A. Yes.

19 Q. Doctor, I'm going to have some  
20 additional questions in regard to your curriculum  
21 vitae, but I want to have an opportunity to look  
22 at it, so I'm going to skip by those things and  
23 we'll go back to them when your curriculum vitae  
24 is available.

25 Have you ever taught or given formal

1 presentations on the subject matter of  
2 papilledema?

3 A. Yes.

4 Q. Do you have any of those presentations  
5 that have been reduced to a written form,  
6 videotape, audiotape?

7 MS. CARULAS: Note my objection.

8 A. I have lectures that I have on -- that  
9 are in the form of 35 millimeter slides.

10 Q. Is that something that we would be  
11 able to get copies of if I requested them?

12 MS. CARULAS: Note my objection. I  
13 don't think that's appropriate to go and request  
14 his 35 millimeter slides. I mean, that's  
15 something you can do later, if you want to put a  
16 request in to me, but if you want to --

17 MS. TOSTI: I'm not requesting them at  
18 this particular moment, if that's what you think.

19 Q. But those are available; you have 35  
20 millimeter slide presentations on papilledema  
21 that you have done?

22 A. I think I have them downstairs.

23 Q. Do you have any syllabus or handouts  
24 from those presentations that you've done on  
25 papilledema?

1 A. No.

2 Q. How many slide presentations do you  
3 have on that subject?

4 A. On papilledema?

5 Q. Yes.

6 A. Just one. Here you go.

7 - - - - -

8 (Thereupon, PLAINTIFFS' Deposition  
9 Exhibit 1 was mark'd for purposes  
10 of identification.)

11 - - - - -

12 Q. Doctor, in regard to what has been  
13 marked as Plaintiffs' Exhibit 1, would you  
14 identify what that document is for us, please.

15 A. That's my curriculum vitae.

16 Q. Is it current and up-to-date?

17 A. No. Only up until 1999.

18 Q. Are there any additions or corrections  
19 that you would like to make to it?

20 A. Well, I really hadn't planned to  
21 update it past 1999 because my academic career is  
22 not primary in my life any longer. So I have  
23 other papers that I've published in the meantime,  
24 but I'm not really going to add them.

25 Q. Well, doctor, any of those additional

1 papers, do they have to do with papilledema?

2 A. I don't think so.

3 Q. Any have to do with increased  
4 intracranial pressure?

5 A. Yes.

6 Q. Can you tell me what the ones that do  
7 not appear on your curriculum vitae that deal  
8 with increased intracranial pressure are?

9 A. I'm writing a chapter on pseudotumor  
10 cerebri for Neurosurgical Clinics of America.

11 Q. Has that actually been submitted for  
12 publication?

13 A. Not as of this moment.

14 Q. Do you know when it is supposed to be  
15 published?

16 A. No, I do not.

17 Q. Any other publications that do not  
18 appear on your vitae?

19 A. I'd have to go and check, actually. I  
20 don't really know. I know there are, but I don't  
21 know what they are.

22 Q. Can I see the CV? Doctor, you have a  
23 lecture and presentation that's entitled Delaware  
24 Valley Medical Center, Langhorn, Pennsylvania,  
25 August 19, 1988, on papilledema and transient



1 vision loss. Do you have any type of written  
2 materials, slides or other materials from that  
3 particular presentation?

4 A. It's the same one that I noted before.

5 Q. Doctor, on your curriculum vitae, do  
6 any of the publications that are listed on here  
7 deal with the subject matter of papilledema?

8 A. I would have to go back and look.

9 Q. I'm going to ask you to take a look  
10 through these, and I would also ask that any of  
11 them that you feel deal with the subject matter  
12 of papilledema, that you would put a checkmark  
13 next to it or circle the number.

14 A. (Doing as requested.)

15 Q. Doctor, would you tell me what you  
16 have reviewed in preparation for this  
17 deposition.

18 A. My note dated July 22, 1998.

19 Q. Have you reviewed any other medical  
20 records of Kevin Kiss aside from the pages that  
21 involved your care?

22 A. No, I have not.

23 Q. And I'm just going to run through a  
24 couple things. Have you seen any records from  
25 the rest of the outpatient department visits that

1 he's had?

2 A. No, I haven't.

3 Q. Anything from any of his inpatient  
4 Cleveland Clinic admissions?

5 A. No, I haven't.

6 Q. Or any records from providers that  
7 cared for Kevin Kiss outside of the Cleveland  
8 Clinic care?

9 A. No.

10 Q. Have you referred to any textbooks or  
11 articles in preparation for this deposition?

12 A. No.

13 Q. Any of your own articles?

14 A. No.

15 Q. Have you reviewed the deposition of  
16 Dr. Mark Luciano?

17 A. No.

18 Q. And since the filing of this case,  
19 have you discussed this case with any  
20 physicians?

21 A. No.

22 Q. Other than with counsel, have you  
23 discussed it with anyone else?

24 A. No.

25 Q. And aside from the clinical notes in

1 the Cleveland Clinic medical records from your  
2 visit on July 22nd of 98, do you have any other  
3 personal notes or personal file on this case?

4 A. No.

5 Q. Have you ever had a separate  
6 correspondence file dealing with Kevin Kiss aside  
7 from notes that appear in the Cleveland Clinic  
8 records?

9 A. No.

10 Q. Doctor, is there a textbook in your  
11 field of practice of ophthalmology that you  
12 consider to be the best or the most reliable?

13 A. Let me back up. I did send one note  
14 that I recall seeing to -- who was it to?  
15 Luciano or -- I did see a note that I sent to  
16 someone, a brief note, but I don't remember.

17 MS. CARULAS: There's the one letter.

18 A. One letter.

19 Q. That's contained in the medical  
20 records, though; correct?

21 A. Yes. You meant separate from that?

22 Q. Yes.

23 A. No.

24 Q. Back to my question. In regard to  
25 your field of ophthalmology, is there a textbook

1     that you feel is the best or most reliable in  
2     that field?

3                   MS. CARULAS: Just note my objection.

4           A.     Ophthalmology?

5           Q.     Yes.

6           A.     It's such a broad field. In  
7     neuro-ophthalmology, it would be Walsh and Hoyt.

8           Q.     Do you refer to that book from time to  
9     time in your practice?

10          A.     Yes.

11          Q.     Do you consider it to be an  
12     authoritative text?

13                   MS. CARULAS: Note my objection. Go  
14     ahead.

15          A.     Yes.

16          Q.     As you sit here today, are there any  
17     publications that you feel have particular  
18     relevance to the facts of this case?

19          A.     I couldn't recall them.

20          Q.     Well, I'm just interested in whether  
21     there's one at this point that you feel has  
22     particular relevance.

23          A.     I don't know.

24          Q.     Doctor, could you describe your  
25     practice for me as it is today.

1           A.       It's a combination of general  
2   ophthalmology and neuro-ophthalmology.

3           Q.       And in 1998 was your practice similar  
4   to that also?

5           A.       Yes.

6           Q.       How does neuro-ophthalmology differ  
7   from general ophthalmology?

8           A.       In essence, in the sorts of diseases  
9   it takes care of and the special training it  
10   takes to do that.

11          Q.       What type diseases would a  
12   neuro-ophthalmologist involve themselves with?  
13   And I'm just thinking of general categories, that  
14   a general ophthalmologist would not.

15          A.       Papilledema, transient visual loss,  
16   ischemic optic neuropathy, diplopia, nystagmus,  
17   the unusual looking optic nerve, unexplained  
18   visual loss, functional visual loss, lid  
19   abnormalities, pupil abnormalities.

20          Q.       Now, doctor, currently in your  
21   practice, are you doing surgical procedures?

22          A.       Yes.

23          Q.       Was that also true in 1998?

24          A.       Yes.

25          Q.       What is the area called where the

1 optic nerve enters the eyeball?

2 A. It's variously known, but I think I  
3 refer to it as the neuro-optic junction.

4 Q. Is it also called the optic papilla?

5 A. Not technically. The optic papilla is  
6 what you see on the inside of the eye.

7 Q. What you can see on the inside of the  
8 eye, is that also sometimes referred to as the  
9 optic disc?

10 A. Yes.

11 Q. What is papilledema?

12 A. It's a swelling of the optic nerve.

13 Q. And what usually causes that to occur  
14 when it does occur?

15 MS. CARULAS: Note my objection. It's  
16 an awfully broad question.

17 A. There's a huge list. It's an enormous  
18 list.

19 Q. When papilledema is present, is it  
20 usually bilateral?

21 A. Yes.

22 Q. Is papilledema and disc edema the same  
23 thing?

24 A. Depends on who you talk to. Disc  
25 edema is a more broad designation. That means

1 the optic nerve could be swollen from causes  
2 other than a raised intracranial pressure.

3 Q. When you refer to papilledema, does  
4 that indicate that it is swollen, the optic nerve  
5 is swollen, due to increased intracranial  
6 pressure?

7 A. That's how I use the term.

8 MS. CARULAS: Objection.

9 A. That's how I use the term.

10 Q. When you use the term "papilledema,"  
11 you're referring to swelling that occurs in the  
12 optic nerve from increased intracranial  
13 pressure?

14 A. Or presumed increase, yes.

15 Q. Now, I think you indicated previously  
16 that in your practice you do see patients that  
17 have papilledema.

18 A. Yes.

19 Q. Approximately how often do you see  
20 patients with that particular diagnosis?

21 A. New patients or followup?

22 Q. Well, in a week's time or a month's  
23 time, how many patients would you see with that  
24 diagnosis?

25 A. Half dozen.

1           Q.     Have you had patients referred to you  
2     for evaluation, management and followup for  
3     papilledema?

4           A.     Yes.

5           Q.     And when you were employed by the  
6     Cleveland Clinic, did you have such referrals?

7           A.     Yes.

8           Q.     How is papilledema diagnosed?

9           A.     By observation.

10          Q.     When you say observation, is that  
11     through a funduscopy exam of the eye --

12          A.     Yes.

13          Q.     -- using an ophthalmoscope?

14                 Now, as you look at the internal  
15     structures of the eye, what is it that tells you  
16     that papilledema is present? What do you look  
17     for as a physician as you're examining those  
18     internal structures?

19          A.     An elevation of the optic nerve.

20          Q.     Anything else?

21          A.     There are numerous other things that  
22     you can look for.

23          Q.     Well, if you would just describe for  
24     me what those are.

25          A.     Congestion of the blood vessels on the



1 surface of the disc, an interpretation that the  
2 nerve -- each of what are called ganglia and  
3 cells are swollen and they're simply being pushed  
4 up from behind. You can see hemorrhages, you can  
5 see what are called cotton wool spots or little  
6 infarcts on the disc. You can see serous retinal  
7 detachment, you can see the choroidal vascular  
8 membrane growing up through the optic nerve or  
9 around the optic nerve. There are lots of  
10 clues. You can see optic pseudodrusen.

11 Q. Is there any system used to grade the  
12 severity of the papilledema?

13 A. There is no set system. Everyone has  
14 their own, based on their experience.

15 Q. Based on your experience, are there  
16 different grades or levels of papilledema?

17 A. Yes. That's one way to characterize  
18 it.

19 Q. What do you use to describe it?

20 A. A zero to four plus scale.

21 Q. What would a zero be?

22 MS. CARULAS: If I may, and I think  
23 I've been pretty patient as we've gone along  
24 here, Dr. Kosmorsky is here to talk about his  
25 particular involvement on July 22nd, 1998, and I

1 do not believe that there was, per se, a finding  
2 of papilledema at that time that would be  
3 relevant to his examination.

4 And so I guess my question is, you  
5 know, this is not something that perhaps should  
6 be addressed to Dr. Marcotty as opposed to Dr.  
7 Kosmorsky. I think we're getting a little bit  
8 astray.

9 MS. TOSTI: I believe that I do have a  
10 right to inquire of the doctor in regard to the  
11 papilledema since a portion of his impressions  
12 dealt with that particular diagnosis in Kevin  
13 Kiss's case. So I will proceed with that, with  
14 your objection noted.

15 MS. CARULAS: Well, I want to work  
16 here together so that, you know, obviously we can  
17 accomplish this.

18 MS. TOSTI: I'm going to continue to  
19 ask him these questions, because I have a right  
20 to know what his knowledge and experience is with  
21 this particular diagnosis, since that's the  
22 diagnosis that was apparently given to Kevin  
23 Kiss.

24 MS. CARULAS: I think you're entitled  
25 to ask him what his impression is. I'm not sure

1 you're entitled to ask him about questions as  
2 they perhaps relate to anyone.

3 MS. TOSTI: I have a right to inquire  
4 as to his knowledge and experience in regard to  
5 this patient, and I will continue with that.  
6 Your objection noted.

7 MS. CARULAS: As it segues into this  
8 particular visit as of July 22. I'm just telling  
9 you, I'm going to let you go to a certain  
10 extent. We may have to cut this off if I think  
11 it's getting too far astray.

12 Q. Doctor, mechanically, how does  
13 increased intracranial pressure lead to  
14 papilledema?

15 A. That's the topic of a very long  
16 discussion; it would require a lot of knowledge  
17 of anatomy and physiology, and I don't think we  
18 really need to get into that. That's a very  
19 detailed question. It sounds simple on the  
20 surface. It's a lot more difficult. And I  
21 simply would have to teach you a lot of things  
22 for background before you even would begin to  
23 understand it.

24 Q. Is a finding of papilledema cause for  
25 concern in a patient?

1 MS. CARULAS: Objection. Now what  
2 you're getting into is issues of standard of care  
3 that did not face this particular gentleman in  
4 his care and treatment of this patient. I'm here  
5 and he is here to talk at length about his  
6 evaluation and treatment. Now what you're  
7 attempting to do is make him an expert in this  
8 case. He is not here as an expert; I've not  
9 identified him as an expert, and it's not fair to  
10 him.

11 Q. Doctor, your impressions of Kevin  
12 Kiss, when you examined him on July 22nd, 1998,  
13 were optic atrophy both eyes secondary to  
14 papilledema, which is resolved; correct?

15 A. Yes.

16 Q. Is a finding of papilledema cause for  
17 concern?

18 MS. CARULAS: Objection. It's not an  
19 appropriate question for this particular witness.

20 Q. You may answer, doctor.

21 A. It depends upon the situation.  
22 Sometimes it's -- when it's first seen, it  
23 deserves evaluation.

24 Q. Are there complications associated  
25 with papilledema?

1 MS. CARULAS: Note my objection.

2 A. There may be.

3 Q. Is it true that blindness may result  
4 from persistent papilledema?

5 MS. CARULAS: Objection. Again, very  
6 broad.

7 A. As a general statement, that is true.

8 Q. What is optic atrophy?

9 A. That is injury to the optic nerve.

10 Q. Is it actually death of optic nerve  
11 fibers?

12 A. Yes.

13 Q. Isn't it true that prolonged or  
14 persistent papilledema can in some instances  
15 cause optic atrophy and vision loss?

16 MS. CARULAS: Objection.

17 A. In a general sense, yes.

18 Q. Is optic atrophy something that  
19 generally occurs over a period of time?

20 MS. CARULAS: Note my objection. If  
21 you're able to answer that without specifics.

22 A. That requires a specific situation to  
23 answer that.

24 Q. Does it sometimes occur with an acute  
25 immediate result, optic atrophy?

1 MS. CARULAS: Just note my objection.

2 A. In general, it takes six weeks to  
3 occur.

4 Q. Does the risk of optic atrophy  
5 increase with the duration of papilledema?

6 MS. CARULAS: Note my objection. If  
7 you're able to answer that in a general way.

8 A. In a general sense, that's the  
9 presumed -- that's an observation that's been  
10 made.

11 Q. Now, doctor, when optic atrophy  
12 occurs, are there any visible changes that you  
13 can see as a practitioner when you do an internal  
14 examination of the eye?

15 A. Could you restate that?

16 Q. When there is optic atrophy and you do  
17 an internal examination of the eye, can you see  
18 any changes in the internal structures of the eye  
19 that would indicate to you or would be consistent  
20 with optic atrophy?

21 A. Change in the color of the optic  
22 nerve.

23 Q. And there are usually blood vessels, I  
24 believe, that are visible in the disc area. Are  
25 there any changes in the blood vessels when optic

1 atrophy occurs?

2 A. They may occlude.

3 Q. Now, when there is vision loss as a  
4 result of persistent papilledema, what portion of  
5 the vision is lost first?

6 A. That's an it-depends situation. What  
7 specifically do you have in mind?

8 Q. With persistent papilledema, is  
9 peripheral vision usually what is lost first?

10 MS. CARULAS: Note my objection. Go  
11 ahead.

12 A. In a general sense, yes.

13 Q. And with persistent papilledema that  
14 results in vision loss, is the vision loss a  
15 progressive type where there would be some vision  
16 loss, and then that vision loss would increase as  
17 the papilledema persists?

18 A. That really depends on the underlying  
19 cause.

20 Q. If it's due to increased intracranial  
21 pressure.

22 MS. CARULAS: Note my objection.

23 A. That still depends what the  
24 characteristics of the pressure are, when it  
25 began, how high it is, what the spikes are. A

1 lot of it is it-depends.

2 Q. Can it be progressive, though, the  
3 vision loss?

4 MS. CARULAS: Objection.

5 A. You can imagine a situation where it  
6 would be.

7 Q. And when persistent papilledema is  
8 present, how is that treated? And we'll limit it  
9 to persistent papilledema due to increased  
10 intracranial pressure.

11 MS. CARULAS: Wait a minute. Now  
12 you're getting specifically into an issue of  
13 standard of care that has nothing to do with this  
14 gentleman's care. It's inappropriate in this  
15 setting. He wasn't there, he didn't evaluate the  
16 patient and so forth.

17 MS. TOSTI: I didn't ask him anything  
18 about the patient. I'm asking him in general as  
19 to how persistent papilledema is treated; that  
20 is, papilledema that's caused by increased  
21 intracranial pressure.

22 Q. So I'm asking you, doctor, as to your  
23 knowledge and background, how that is treated.

24 MS. CARULAS: It would be my guess,  
25 however, that you are thinking about linking it



1 to this case.

2 MS. TOSTI: I prefer you not guess.  
3 This is my question, and I'm asking the question  
4 as it's --

5 MS. CARULAS: I was being facetious.  
6 Obviously, you are attempting to tie this into  
7 this particular case. He is here to talk about  
8 his involvement, his examination and so forth.  
9 Now you're getting into an issue of standard of  
10 care. We're not going to let you go.

11 MS. TOSTI: I have a right to delve  
12 into this doctor's knowledge and background as to  
13 the treatment, as to his knowledge about  
14 papilledema, and how it relates to optic  
15 atrophy.

16 He has indicated his impressions are  
17 that this child had optic atrophy secondary to  
18 papilledema, and, therefore, I have a right to go  
19 into his knowledge about this particular disease  
20 entity. Now, that's what we're questioning  
21 about, his knowledge, at this point, and I would  
22 ask that you answer my question, doctor.

23 MS. CARULAS: I disagree. I mean, I  
24 have had specific rulings from a number of courts  
25 that say you cannot just pull someone out of

1    their setting and what they did and attempt to  
2    bring them in as an expert witness.  I mean,  
3    we've been very patient, all of us, in letting  
4    you ask general questions of this man.  You have  
5    never even gotten into the point of what --

6                   MS. TOSTI:  Your objection is noted on  
7    the record, and if the Court wants to rule on it,  
8    they can, but I'm going to continue with my  
9    questions.

10                  MS. ATWELL:  I'm going to join in the  
11   objections.

12                  MS. LOESEL:  So am I.

13                  MS. CARULAS:  The point is here, and I  
14   don't want to get into a situation where we have  
15   to go fight with motions and so forth, what she  
16   is asking you here is to give an opinion as far  
17   as the care that was rendered in this case when  
18   you weren't there.

19                  MS. TOSTI:  I have not directed my  
20   question whatsoever to the care rendered in this  
21   case.  I have asked a general question in regard  
22   to diagnosis.  I have not related it to this  
23   particular patient.

24                  MS. CARULAS:  My point here is it's  
25   inappropriate, number one.  I can instruct him

1 not to answer and we can go and fight this in the  
2 court, if you want to do that.

3 MS. TOSTI: I don't believe that you  
4 have any basis to instruct him not to answer my  
5 question. However, that is up to you, whether  
6 you want to do it, if you think that you have  
7 legal grounds to tell this doctor and instruct  
8 him that he cannot answer my question.

9 He has rendered care to this child, he  
10 has rendered impressions as to the child's  
11 diagnosis, and I have a right to delve into his  
12 knowledge and experience in regard to those  
13 particular diagnoses: papilledema and optic  
14 atrophy, and, therefore, I will continue to ask  
15 those questions.

16 MS. LOESEL: Are you asking him to  
17 render an opinion with regard to his diagnosis at  
18 the time that he saw the patient?

19 MS. TOSTI: I am asking him the  
20 question that I asked him, and, Karen, if you  
21 would please read that back to me, the last  
22 question.

23 (Record read.)

24 Q. My question to you is: How is  
25 persistent papilledema that is the result of

1 increased intracranial pressure treated?

2 MS. LOESEL: Objection.

3 MS. ATWELL: Objection.

4 MS. CARULAS: Note my objection. In  
5 what setting?

6 MS. TOSTI: In any setting. I'm  
7 asking him just generally what are the options  
8 available. I haven't given him anymore specifics  
9 than that.

10 MS. CARULAS: How does that apply to  
11 his July 22 care?

12 Q. Doctor, do you understand my  
13 question?

14 A. Yes.

15 Q. Would you answer it.

16 MS. LOESEL: Objection.

17 MS. ATWELL: Objection.

18 THE WITNESS: Should I answer it?

19 MS. CARULAS: My point is, and I do  
20 have basis to instruct you not to answer it,  
21 because he is here to talk about his only  
22 involvement, one day, and -- let me just finish  
23 for the record here -- and he was not asked to  
24 treat persistent papilledema.

25 Now, if you have a particular opinion

1 on that that you care to share here, you can do  
2 that. But the point is, I mean, he's here to  
3 talk strictly about his involvement, and that's a  
4 question that has nothing to do with his  
5 involvement.

6 Q. Do you understand my question,  
7 doctor?

8 A. Yes.

9 Q. Would you answer it, please.

10 A. I have to ask counsel whether I  
11 should.

12 MS. CARULAS: Let's step out for a  
13 second.

14 (Discussion off the record.)

15 MS. TOSTI: Would you read back my  
16 last question.

17 (Record read.)

18 MS. CARULAS: Note my objection.

19 A. The answer depends upon the specific  
20 situation. If you had cor pulmonale, you would  
21 treat that first. If you had a metabolic  
22 acidosis, you would treat that first. If you had  
23 a drug, an offending drug, like vitamin A on  
24 tetracycline or doxycycline or nalidixic acid,  
25 any one of hundreds of drugs that could do that,

1 you would stop the offending drug. If you had  
2 any reason to believe there was a mass lesion,  
3 you would remove the mass lesion. If you had  
4 subarachnoid hemorrhage, you would treat the  
5 underlying aneurysm or dural fistula or vascular  
6 malformation; if you had any one of a number of  
7 things. It just depends on what the underlying  
8 etiology is.

9 Q. All of those things you just mentioned  
10 could cause increased intracranial pressure?

11 A. They may, in specific circumstances,  
12 yes.

13 Q. Is Diamox ever used to treat  
14 papilledema?

15 A. Diamox is a drug that has been used to  
16 treat papilledema.

17 Q. In persistent papilledema in which  
18 medical intervention has not worked, is optic  
19 nerve sheath fenestration sometimes used to treat  
20 papilledema?

21 MS. CARULAS: Note my objection. Go  
22 ahead.

23 A. In some instances, people would **do** an  
24 optic nerve sheath decompression.

25 Q. Have you done that in some instances,

1 doctor, for patients that you have cared for?

2 MS. CARULAS: Note my objection. Go  
3 ahead.

4 A. I have.

5 Q. Doctor, when a patient has  
6 papilledema, is it important to continue to  
7 monitor the papilledema to see if whatever  
8 treatment is chosen is resolving the problem?

9 MS. LOESEL: Objection.

10 MS. ATWELL: Objection.

11 MS. CARULAS: Note my objection. Now  
12 I'm going to instruct him not to answer. You're  
13 asking him a standard of care question which has  
14 nothing to do with his involvement in this case.

15 Q. Doctor, what is visual field testing?

16 A. Visual field testing is done by  
17 various sorts of mechanisms, but it's basically  
18 an attempt to evaluate what a person sees in the  
19 periphery.

20 Q. And how do you go about doing visual  
21 field testing?

22 A. Depends on the methodology.

23 Q. How do you do it?

24 MS. CARULAS: Note my objection, but  
25 go ahead.

1 A. We have automated Humphrey perimeters.

2 Q. What is sequential visual field  
3 testing?

4 A. Sequential.

5 MS. CARULAS: Note my objection, but  
6 go ahead.

7 A. As a specific test? I'm not sure.

8 Q. Have you ever heard of sequential  
9 visual field testing?

10 A. You simply mean doing them in  
11 sequence, one after the next?

12 Q. Yes.

13 A. Sure.

14 Q. Do you use that sometimes to evaluate  
15 patients that have an ongoing vision problem?

16 MS. CARULAS: Objection.

17 A. I have in the past.

18 Q. Is sequential visual field testing  
19 helpful in monitoring a patient with persistent  
20 papilledema?

21 MS. ATWELL: Objection.

22 MS. LOESEL: Objection.

23 MS. CARULAS: Objection.

24 A. In the general sense, it could be.

25 Q. Have you used it in your practice to



1 monitor patients that have persistent  
2 papilledema?

3 MS. LOESEL: Objection.

4 MS. ATWELL: Objection.

5 MS. CARULAS: Objection. Again, we're  
6 going down the same line again. Whether he's  
7 done it in other patients has nothing to do with  
8 the issue of standard of care in this case for  
9 the other players. But go ahead. I want to try  
10 to work with you here as much as possible. Have  
11 you ever used it?

12 A. I have.

13 Q. Doctor, would you agree that when a  
14 patient is found to have persistent papilledema  
15 that the patient should be followed closely for  
16 signs of optic atrophy?

17 MS. LOESEL: Objection.

18 MS. ATWELL: Objection.

19 MS. CARULAS: Objection again. Don't  
20 answer that. It has nothing to do with his  
21 evaluation of this patient on July 22, 98, which  
22 is his only involvement in this case.

23 Q. Well, doctor, I believe you have  
24 rendered your impressions in this case, that this  
25 particular patient, Kevin Kiss, had optic atrophy

1 secondary to papilledema, so I would ask my  
2 question again: Would you agree that if a  
3 patient is found to have persistent papilledema  
4 that the patient should be followed closely for  
5 signs of optic atrophy?

6 MS. ATWELL: Objection.

7 MS. LOESEL: Objection.

8 MS. CARULAS: Same situation. Just  
9 couching it on what his impression was has  
10 nothing to do with asking him a standard of care  
11 question as to care he was not involved in.

12 Q. I would ask you to answer the  
13 question.

14 MS. ATWELL: Objection.

15 MS. LOESEL: Objection.

16 MS. CARULAS: I'm going to tell him  
17 not to answer it.

18 MS. TOSTI: You're instructing him not  
19 to answer?

20 MS. CARULAS: A standard of care  
21 question, yes, I am. Indeed I am. It's  
22 inappropriate as to this witness.

23 Q. Doctor, do you intend, if this case  
24 goes to trial, to be rendering opinions as to  
25 whether or not persistent papilledema should be

1 followed closely for optic atrophy?

2 MS. ATWELL: Objection.

3 MS. LOESEL: Objection.

4 A. I have no way of answering that. That  
5 depends on what -- I don't really understand the  
6 system here, so I don't know to what degree I  
7 would be required to do what. So I couldn't  
8 answer that.

9 MS. TOSTI: Are you telling me that he  
10 will not express any opinions on that particular  
11 subject at trial in this case?

12 MS. CARULAS: Yes. I always think it  
13 is better from my standpoint to have outside  
14 experts, because you will always argue from the  
15 Plaintiff's standpoint that a treating doctor  
16 from within the Cleveland Clinic system at the  
17 time is biased.

18 So, yes, I will have independent  
19 experts, and I do not plan to call Dr. Kosmorsky  
20 as an expert witness on that issue, and that's  
21 why I'm telling you I don't think he should be  
22 commenting on anything here other than his  
23 specific --

24 MS. TOSTI: And I do think it relates  
25 to his specific care of this particular patient

1 as to his knowledge and experience with this  
2 particular disease entity.

3 MS. CARULAS: I strongly disagree. I  
4 mean, I don't see how those questions have  
5 anything to do with his factual involvement in  
6 this case, which is the only reason I believe  
7 you're here to question him, or you're entitled  
8 to be here to question him, to find out what his  
9 involvement was as a fact witness. He is here as  
10 a fact witness.

11 Q. Do you have an independent  
12 recollection of Kevin Kiss as you sit here  
13 today?

14 A. Not at all.

15 Q. Based on your review of the record,  
16 when is the first time that you came in contact  
17 with Kevin Kiss?

18 A. First and only time is the dated chart  
19 record of 7-22-98.

20 Q. How is it that you came to see him on  
21 July 22nd of 98?

22 A. Well, simply reading from the note, it  
23 says that he had been referred by Dr. Luciano.

24 Q. And what is your understanding, either  
25 from your recollection or review of the record,

1 as to why Kevin was referred to you by Dr.  
2 Luciano?

3 MS. CARULAS: If you know. If you  
4 know.

5 A. Well, I would have to go back and read  
6 why. I see my former technician Shauna had  
7 written down a sequence of events as told to her,  
8 and I believe it was to answer the question of  
9 why his vision was subnormal.

10 - - - - -  
11 (Thereupon, PLAINTIFFS' Deposition  
12 Exhibits 2A, 2B & 2C were mark'd for  
13 purposes of identification.)

14 - - - - -  
15 Q. Doctor, I'm going to hand you three  
16 pages of notes that I've marked as Plaintiffs'  
17 Exhibits 2A, 2B and 2C that are dated July 22nd,  
18 1998, and if you could just tell me if those are  
19 the notes that you were just referring to.

20 A. Yes, they are.

21 Q. Now, in regard to those notes, the  
22 handwriting that appears on the first three  
23 pages, is that your handwriting or someone  
24 else's?

25 A. That's my technician, Shauna.

1 Q. Does your handwriting appear on these  
2 particular pages?

3 A. From the middle to the bottom of page  
4 2C and 3.

5 Q. So on page 2C, your notes are at the  
6 end of that page; is that correct?

7 A. Correct.

8 Q. When you saw Kevin, did you go and  
9 confirm the information that the technician,  
10 Shauna, had reported on the patient?

11 A. I don't recall if I had personally  
12 done that.

13 Q. Would it be your usual procedure to do  
14 that, to confirm whatever history or information  
15 she collected on a patient?

16 A. I would usually question her about  
17 that, or the individual that I was examining.

18 Q. Now, is Shauna someone that  
19 specifically works with you, doctor?

20 A. Well, not now. She did.

21 Q. At the time?

22 A. Correct.

23 Q. She was assigned to your service?

24 A. Correct.

25 Q. And she would see your patients?

1 A. Correct.

2 Q. Typically would she see the patient  
3 first and then you would see the patient?

4 A. Correct.

5 Q. Would she be present at the time that  
6 you would then see the patient?

7 A. No.

8 Q. She would see the patient prior to you  
9 going in to see a patient?

10 A. Right.

11 Q. At the time that you saw Kevin Kiss,  
12 did Dr. Luciano provide you with any information  
13 about Kevin's condition when he made that  
14 referral?

15 A. Not that I recall.

16 Q. How is it that you would normally be  
17 informed about a referral?

18 A. It would just be on my schedule.

19 Q. Would you be provided, usually, with  
20 any type of documentation on the patient when you  
21 would receive a referral for the patient?

22 A. Sometimes and, annoyingly, others, no.

23 Q. Would you have the Clinic notes from  
24 the patient's prior visits available to you?

25 A. At times, and at other times, no.

1 Q. Do you know in this instance whether  
2 you had the Clinic notes from the patient's prior  
3 visit available to you?

4 A. I don't even recall the visit.

5 Q. Doctor, in regard to the information  
6 that Shauna has included at the beginning note,  
7 is that information that you likely confirmed  
8 with Kevin and his family?

9 MS. ATWELL: Objection.

10 A. I can't say in this instance. I  
11 simply don't recall the visit.

12 Q. Would that be what you would usually  
13 do on a visit?

14 MS. ATWELL: Objection.

15 A. That would be what I would do on many  
16 visits.

17 Q. What was your understanding as to when  
18 Kevin's vision loss occurred?

19 A. Well, all I saw was that he had lost  
20 vision by July. I don't see a timeline in the  
21 record. So at this point, I am unable to tell  
22 you that. I do note here that he was to follow  
23 up with Dr. Marcotty in April, and at that point  
24 had an emergency shunt. So I don't know whether  
25 that visit was triggered by an ophthalmologic



1 finding or not. I can't really say.

2 Q. Are you referring to the notes Shauna  
3 wrote?

4 A. Yes, on 2B.

5 Q. Doctor, is it common for a young child  
6 not to be fully aware of the extent of vision  
7 loss when they suffer vision loss?

8 A. That's very dependent upon the child.  
9 It really just depends upon how intuitive they  
10 are and how talkative they are. So that's really  
11 a very much it-depends thing.

12 a. Have you, in your experience, seen  
13 instances where children have lost vision and are  
14 not fully aware of the extent of loss of vision?

15 A. Yes. And I have had four-year-olds  
16 who are quite well aware and could express it  
17 easily.

18 Q. When you saw Kevin, was anyone else in  
19 attendance with you?

20 A. I don't recall.

21 Q. Do you recall if there was a family  
22 member present when you saw Kevin?

23 A. I don't recall.

24 a. Now, aside from what appears in  
25 Shauna's note, did you obtain any additional

1 history from Kevin or his family on that date  
2 that you recall?

3 A. Once again, I don't recall. I just  
4 don't.

5 Q. Did you perform an examination of  
6 Kevin on that date?

7 A. I did.

8 Q. And what did your examination consist  
9 of?

10 A. Review of the visual acuity, which was  
11 20/20 minus two in the right eye, and count  
12 fingers at two feet in the left eye without  
13 wearing glasses.

14 The fact that his pupil exam had  
15 revealed an abnormality called an afferent  
16 pupillary defect, the plus 4 APD indicates a very  
17 abnormal pupillary response on the left.

18 His motility, or motion of the eye,  
19 appeared normal. Slit-lamp examination was  
20 normal. And his fundus examination revealed  
21 normal sized small cups, only a mild or trace  
22 amount of optic atrophy in the right, and three  
23 plus optic atrophy on the left, which is  
24 significant. And VMP is vertical macular  
25 perimetry, which I noted to be normal on both

1 sides.

2 Q. Was there any papilledema present when  
3 you examined the interior of his eye?

4 A. No.

5 Q. Now, doctor, you indicated that, I  
6 believe, in his left eye you noted three plus  
7 optic atrophy; is that correct?

8 A. Correct.

9 Q. What specifically did you see in the  
10 interior of his eye that indicated to you it was  
11 a three plus optic atrophy?

12 A. A pallor that was equivalent to that  
13 of my relative scale based on my experience.

14 Q. Did you take any photographs when you  
15 examined the interior of his eye?

16 A. Not according to this note.

17 Q. Were you able to determine if this  
18 optic atrophy was the result of a chronic  
19 condition?

20 A. No. There's no way to tell that.

21 Q. **So** it could be acute or it could be  
22 chronic?

23 A. Well, chronicity from anywhere out  
24 from about four to six weeks to forever. Optic  
25 atrophy cannot be dated in that way.

1           Q.     I just want to clarify what you're  
2     saying. The condition that you saw could have  
3     resulted from a condition four to six weeks ago  
4     or longer; would that be fair?

5           A.     That would be fair.

6           Q.     Were there any exudates, hemorrhages  
7     or anything like that that --

8           A.     None that I noted.

9           Q.     If there were exudates and  
10    hemorrhages, would that be more of an indication  
11    of an acute problem?

12          A.     Yes, it would.

13          Q.     Now, there was visual field testing  
14    done on that particular visit; is that correct?

15          A.     Yes, there was.

16          Q.     Was that done before you saw Kevin or  
17    after you saw Kevin?

18          A.     Usually it's done before.

19          Q.     Doctor, I'm going to give you two  
20    pages that I'm going to mark as as 3A and 3B.

21                   - - - - -

22                   (Thereupon, PLAINTIFFS! Deposition  
23                   Exhibit 3A & 3B were mark'd for  
24                   purposes of identification.)

25                   - - - - -

1 Q. And I would ask what's been marked as  
2 Plaintiffs' Exhibit 3A and 3B, are those part of  
3 the visual field testing that was done on Kevin  
4 on July 22nd of 98?

5 A. They appear to be.

6 MS. ATWELL: Could I just ask, what is  
7 3A, the right or left eye?

8 THE WITNESS: Right eye.

9 Q. Now, why did you test Kevin's visual  
10 fields?

11 A. Because he had subnormal visual  
12 acuity.

13 Q. And was the actual visual field  
14 testing done by a technician?

15 A. Yes.

16 Q. Was that done at the bedside, or is  
17 there a special area where they do the visual  
18 field testing?

19 A. The latter.

20 Q. Where is the visual field testing  
21 done?

22 A. There are rooms in the institute where  
23 the field machines are.

24 Q. And when the visual field testing was  
25 done, what were the findings?

1           A.     Well, the right eye actually appears  
2     relatively normal. There may be mild contraction  
3     of the field, but that's arguable for a young  
4     person.

5                     The left eye, there's what's called a  
6     central scotoma, which is the dark black area,  
7     definite contraction of the field. In other  
8     words, it's smaller than it should be in both  
9     upper and lower nasal visual field loss.

10          Q.     Now, doctor, did you at any time,  
11     either before the 22nd other after the 22nd,  
12     speak to Dr. Andreas Marcotty about Kevin?

13          A.     Not that I recall.

14          Q.     I believe Dr. Marcotty did some visual  
15     field testing on the 14th of July. Did you have  
16     his visual field testing made available to you  
17     for review at the time that you saw Kevin on the  
18     22nd?

19          A.     I actually don't know. It would  
20     normally be my habit to indicate if there had  
21     been a change if I had previous fields.

22                     - - - - -

23                     (Thereupon, PLAINTIFFS' Deposition  
24                     Exhibit 4A, 4B & 4C were mark'd for  
25                     purposes of identification.)

1                                   - - - - -

2           Q.       Doctor, I'm going to hand you what  
3       I've marked as Plaintiffs' Exhibits 4A, 4B and  
4       4C, and I would ask if you have ever seen these  
5       particular visual field testings on Kevin Kiss  
6       before.

7           A.       Again, I don't recall. I've seen  
8       thousands of visual fields.

9           Q.       Are they consistent with what your  
10       findings were on the 22nd?

11                   MS. CARULAS: Note my objection.

12                   MS. LOESEL: Objection.

13                   MS. ATWELL: Objection.

14           A.       In other words, do they show similar  
15       patterns to what I see?

16           Q.       Yes.

17           A.       Yes.

18           Q.       Doctor, the visual field testing that  
19       you did on Kevin, or had done on Kevin on July  
20       22nd, are they consistent with a patient that has  
21       had chronic papilledema?

22                   MS. LOESEL: Objection.

23                   MS. ATWELL: Objection.

24                   MS. CARULAS: Note my objection.

25           A.       They could be chronic or acute, and

1 they don't even have to be from papilledema.  
2 They could be from optic atrophy from any cause,  
3 from a toxic optic neuropathy, from drusen, from  
4 vascular occlusions, optic neuritis, congenital  
5 optic nerve defects. The list goes on and on.

6 Q. Doctor, isn't visual acuity loss one  
7 of the later findings that you see with chronic  
8 papilledema?

9 A. It can be.

10 Q. Well, patients with chronic  
11 papilledema usually don't start with loss of  
12 central vision, do they?

13 MS. CARULAS: Objection.

14 A. Say it again.

15 Q. Patients with chronic papilledema  
16 usually don't start with loss of central vision,  
17 do they?

18 A. Patients with chronic papilledema may  
19 present with loss of central vision.

20 Q. Do they usually?

21 A. With chronic papilledema?

22 Q. Yes.

23 MS. CARULAS: Note my objection.

24 A. In my experience, chronic papilledema  
25 is with central visual loss.



1           Q.     Aside from what appears in your  
2     handwritten note that we just discussed, did you  
3     find any other deviations from normal on your  
4     examination of Kevin?

5           A.     Not from -- not other than what I  
6     discussed on the note, no.

7           Q.     And what were your impressions from  
8     your assessment on July 22nd, 1998?

9           A.     Optic atrophy OU, which means both  
10    eyes, secondary to papilledema which is resolved,  
11    should remain stable.

12          Q.     And the basis for your impression that  
13    Kevin had optic atrophy secondary to papilledema,  
14    which was resolved, was what?

15          A.     Because of my review of Shauna's note  
16    on page 2B that said that the patient had been  
17    noted to have papilledema in the past, and,  
18    therefore, my logical conclusion was that the  
19    optic atrophy was from that.

20          Q.     Now, in Kevin's case, is it likely  
21    that his shunting procedure relieved the  
22    papilledema?

23          A.     In Kevin's case?

24          Q.     Yes.

25          A.     Relieved it?

1 Q. Yes.

2 A. Shunting, if papilledema is due to  
3 increased intracranial pressure, shunting almost  
4 always resolves the papilledema.

5 Q. Now, doctor, in Shauna's note, she  
6 indicates on, I think, the second page of the  
7 note, which should be 2B, about halfway down,  
8 that the patient was never told to follow up with  
9 Dr. Marcotty. Did you ever discuss this with the  
10 patient or with Kevin's mother?

11 A. Not to my recollection.

12 Q. Did you ever discuss it with Dr.  
13 Luciano as to why Kevin never followed up with  
14 Dr. Marcotty?

15 A. Not to my recollection.

16 Q. Do you know what the source of that  
17 information is in Shauna's note?

18 A. I do not.

19 Q. Do you have an opinion whether Kevin  
20 should have been told to follow up with Dr.  
21 Marcotty after his cyst fenestration procedure in  
22 February?

23 MS. ATWELL: Objection.

24 MS. LOESEL: Objection.

25 MS. CARULAS: Objection.

1           A.       Well, that's -- anybody should follow  
2 up with a doctor who has had surgery.

3           Q.       With an ophthalmologist?

4           MS. LOESEL:   Objection.

5           MS. ATWELL:   Objection.

6           MS. CARULAS:   Note my objection.  
7 She's asking you whether you plan to give an  
8 opinion in this case as to the relationship --  
9 you know, whether you're going to give an opinion  
10 in this case.

11           MS. TOSTI:   I would prefer you not to  
12 explain my questions to the witness.   If you have  
13 got an objection, enter it, but I don't want you  
14 explaining my question to the witness.

15           MS. CARULAS:   Well, I think your  
16 question was vague.

17           MS. TOSTI:   You can make an objection  
18 then.   But I'm not going to have you sit here and  
19 reexplain my question to this witness.   Now, if  
20 he doesn't understand the question, he can **ask**  
21 me.   But I'm not going to have you interpret my  
22 question for the witness.   I think that's  
23 inappropriate, and I would object to that.

24           MS. ATWELL:   I'm going to join in the  
25 objection, and I'm not going to give the witness

1 any information, but since he's indicated he has  
2 not reviewed the chart, if you want to tell him  
3 when any procedure or visit or surgery had  
4 occurred, that would be up to you.

5 MS. TOSTI: And I already did that in  
6 my question.

7 MS. ATWELL: I don't believe you did.

8 Q. I said, doctor, do you think that  
9 Kevin should have been told to follow up with Dr.  
10 Marcotty after his cyst fenestration procedure in  
11 February of 1998?

12 MS. ATWELL: Objection.

13 MS. CARULAS: Well, that wasn't your  
14 question. You're now saying a different  
15 question.

16 MS. TOSTI: And he said I think the  
17 patient always should follow up with a doctor. I  
18 believe that was your answer. And I said,  
19 doctor, should the patient have been told to  
20 follow up specifically with an ophthalmologist  
21 after the cyst fenestration.

22 MS. LOESEL: Objection.

23 MS. ATWELL: Objection.

24 MS. CARULAS: Objection. Again, he  
25 wasn't involved in this care. If you want to

1 give him the exact specifics of this, this and  
2 this, but if you have an opinion in the abstract,  
3 I suppose, if you can comment on this particular  
4 case.

5 A. In the abstract, certainly, yes.

6 Q. Certainly the patient should have been  
7 told to follow up with an ophthalmologist?

8 A. In a general sense.

9 Q. Yes?

10 A. Yes.

11 Q. Doctor, at the beginning of Shauna's  
12 note, there's a box, I believe, under a typed  
13 stamped name that is your name. What does the V  
14 and the W stand for in that box?

15 A. Vision, and W is wearing, so that's  
16 the glasses.

17 Q. What's the NV stand for?

18 A. Near vision.

19 Q. Now, once you had completed your  
20 evaluation of Kevin, did you discuss your  
21 findings with his parents?

22 A. I don't recall.

23 Q. Did you make any recommendations  
24 regarding treatment for Kevin?

25 A. I don't think so, because I said per

1 Dr. Luciano, so I think my -- normally, when I  
2 say per whoever referred the patient, I left the  
3 treatment up to the person who referred the  
4 patient and simply rendered an opinion.

5 Q. Did you provide Dr. Luciano with any  
6 recommendations regarding treatment for this  
7 patient?

8 A. If I did, I don't recall. I mean, had  
9 he been shunted, and I don't know whether he was,  
10 but had he already been shunted, then there would  
11 be little reason to do anything else.

12 Q. I believe at this time he had already  
13 received a shunt in April.

14 A. Then it probably would account for  
15 when I said do nothing else, because he already  
16 had the definitive treatment.

17 Q. At the point that you saw Kevin, were  
18 there any other treatment options available for  
19 him in regard to his vision?

20 A. It goes back to the same thing we  
21 talked about before. It depends upon the  
22 underlying cause. If it was elevated  
23 intracranial pressure, he already had the  
24 treatment. There was no reason to do anything  
25 else.

1           Q.     Doctor, if Kevin had papilledema from  
2     increased intracranial pressure that was  
3     unrelieved by his shunting procedure or  
4     medication, treatment, is he the type of patient  
5     that a fenestration of the optic nerve might be  
6     done on? Is that a type of a problem that --

7           A.     In other words, should I have done an  
8     optic nerve sheath fenestration?

9           Q.     I'm not asking if you should have.

10          A.     That's what it sounds like.

11          Q.     I'm saying a situation in which you  
12     have persistent papilledema, even after the  
13     surgical options to decrease increased  
14     intracranial pressure, is that the type of  
15     patient that you might consider doing an optic  
16     nerve fenestration on?

17                 MS. ATWELL: Objection.

18                 MS. LOESEL: Objection.

19                 MS. CARULAS: Note my objection.

20          Q.     I'm not say'ng in his case it was  
21     appropriate.

22          A.     You're saying if someone didn't  
23     respond to the shunt?

24          Q.     Yes.

25          A.     My general tack would be to have the

1 shunt checked and redo it, because I have never  
2 seen anybody who didn't respond to an  
3 appropriately done shunt.

4 - - - - -

5 (Thereupon, PLAINTIFFS' Deposition  
6 Exhibit 5 was mark'd for purposes  
7 of identification.)

8 - - - - -

9 Q. Doctor, I'm going to hand you what's  
10 been marked as Plaintiffs' Exhibit 5. Could you  
11 identify that document for us?

12 A. It looks like a note from me to Dr.  
13 Marcotty dated July 23rd, 1998 with a copy to Dr.  
14 Ernesto Gerardo, and it says, "Kevin will be  
15 following up with you in the near future due to  
16 his optic atrophy secondary to his edema from his  
17 arachnoid cyst. At this point he is 20/20 and  
18 hand motion. I expect that as long as his  
19 intracranial pressure remains normalized with his  
20 shunt tube, his situation should remain very  
21 stable."

22 Q. Why did you recommend ophthalmology  
23 followup for Kevin with Dr. Marcotty?

24 A. It's just my habit, once anybody has  
25 any sort of problem with their eyes, you follow



1     them simply to make sure nothing else happens in  
2     the future.

3           Q.     Now, you mentioned that as long as his  
4     situation remained stable -- I'm sorry, you  
5     mentioned that as long as his intracranial  
6     pressure remains normalized with his shunt tube,  
7     his situation could remain stable. If Kevin's  
8     intracranial pressure increased, would he be at  
9     risk for further vision loss?

10          A.     Anyone would be.

11          Q.     Now, did you tell Kevin or his family  
12     as to whether they should follow up with Dr.  
13     Marcotty?

14          A.     I, again, don't recall, and I didn't  
15     specifically say in the note when, so I don't  
16     know.

17          Q.     Well, I think you indicated that it  
18     would be in the near future, and I was just  
19     wondering if you had, in your mind, as to what  
20     that meant, near future.

21          A.     Usually it means within several  
22     months.

23          Q.     Now, doctor, in February of 98, Kevin  
24     was noted to have papilledema after his cyst  
25     fenestration. Should he have had --

1 A. You'll have to go over that again.

2 Q. Kevin had his cyst fenestration done,  
3 I believe, in December, and then was noted to  
4 have papilledema in February. Should he have  
5 been evaluated by an ophthalmologist and visual  
6 fields done?

7 MS. LOESEL: Objection.

8 MS. ATWELL: Objection.

9 MS. CARULAS: Objection.

10 A. Are you asking me to be an expert on  
11 that?

12 Q. I'm asking you if that would be the  
13 standard, to go ahead and do visual fields on a  
14 patient that has papilledema following a cyst  
15 fenestration.

16 A. Do I become the expert witness at that  
17 point?

18 MS. ATWELL: Objection.

19 MS. LOESEL: Objection.

20 MS. CARULAS: I do not plan to call  
21 you as an expert witness. You did not review  
22 those documents. You were not there to have  
23 firsthand knowledge. If you don't have an  
24 opinion, you can tell her you don't have an  
25 opinion. I can instruct you not to answer.

1           A.       It's sounding to me like you're asking  
2       for expert advice, so I would just say I don't  
3       have an opinion on that then.

4           Q.       Do you have an opinion as to whether  
5       Kevin's optic atrophy and resulting vision loss  
6       was preventable?

7                   MS. LOESEL:  Objection.

8                   MS. ATWELL:  Objection.

9                   MS. CARULAS:  Note my objection as  
10      well.

11          A.       That's something I couldn't answer  
12      directly, no.  That would depend upon detailed  
13      review of the past medical record, which I did  
14      not do.

15          Q.       So your answer is you do not have an  
16      opinion?

17          A.       I do not have an opinion.

18          Q.       Did you at any time ever discuss  
19      Kevin's vision loss with Dr. Marcotty?

20          A.       I really don't recall.  I mean, I  
21      suppose it's possible, because he was in my  
22      department at some point and might have asked me,  
23      but I surely don't recall without a chart in  
24      front of me.  I probably couldn't have answered  
25      his question anyway.

1           Q.     Did you have any conversations about  
2     Kevin's vision loss with any other physicians at  
3     Cleveland Clinic that you recall?

4           A.     Not that I recall. I could have had a  
5     resident with me, for instance, and spoken with  
6     him, but I have no recollection of that at all.

7           Q.     And you don't have any recollection of  
8     speaking with Dr. Luciano regarding your findings  
9     of July 22nd; is that correct?

10          A.     I don't remember. I mean, I could  
11     have, but I honestly don't remember if I did.  
12     That's quite awhile ago.

13          Q.     Do you know of any other Cleveland  
14     Clinic ophthalmology consult done on Kevin prior  
15     to the one that you did on July 22nd?

16          A.     Not that I'm aware of.

17          Q.     Do you have any criticism of any of  
18     the care that was rendered to Kevin Kiss?

19          A.     I don't know what care was rendered to  
20     Kevin Kiss, so I can't comment.

21          Q.     Do you have any criticism of Kevin or  
22     his family in any way for the vision  
23     complications that he suffered?

24          A.     No.

25                 MS. TOSTI: I don't have further

1 questions for you, doctor.

2 MS. ATWELL: No questions.

3 MS. LOESEL: No questions.

4 MS. CARULAS: Okay. You have the  
5 right to read over the transcript and make sure  
6 it was taken down accurately. I always recommend  
7 that.

8 (Deposition concluded at 2:55 o'clock p.m.)

9 - - - - -

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 AFFIDAVIT

2 I have read the foregoing transcript from  
3 page 1 through 69 and note the following  
4 corrections:

5 PAGE LINE REQUESTED CHANGE

6

7

8

9

10

11

12

13

14

15

16

17

18 \_\_\_\_\_  
GREGORY S. KOSMORSKY, D.O.

19

20 Subscribed and sworn to before me this  
21 \_\_\_\_\_ day of \_\_\_\_\_, 2000.

22

23

24 \_\_\_\_\_  
Notary Public

25 My commission expires \_\_\_\_\_


1 CERTIFICATE

2 State of Ohio, )  
 ) SS:  
3 County of Cuyahoga. )  
4

5 I, Karen M. Patterson, a Notary Public  
within and for the State of Ohio, duly  
6 commissioned and qualified, do hereby certify  
that the within named GREGORY S. KOSMORSKY, D.O.  
7 was by me first duly sworn to testify to the  
truth, the whole truth and nothing but the truth  
8 in the cause aforesaid; that the testimony as  
above set forth was by me reduced to stenotypy,  
9 afterwards transcribed, and that the foregoing is  
a true and correct transcription of the  
10 testimony.

11 I do further certify that this deposition  
was taken at the time and place specified and was  
12 completed without adjournment; that I am not a  
relative or attorney for either party or  
13 otherwise interested in the event of this action.

14 IN WITNESS WHEREOF, I have hereunto set my  
hand and affixed my seal of office at Cleveland,  
15 Ohio, on this 8th day of February 2000.

16  
17   
Karen M. Patterson, Notary Public  
18 Within and for the State of Ohio  
19 My commission expires October 7, 2004.

20  
21  
22  
23  
24  
25

Gregory Kosmorsky M.D.


TO THE WITNESS: DO NOT WRITE IN TRANSCRIPT EXCEPT TO SIGN. Please note any word changes/corrections on this sheet only. Thank you.

TO THE REPORTER: I have read the entire transcript of my deposition taken on the \_\_\_\_\_ day of \_\_\_\_\_, 20\_\_\_\_ or the same has been read to me. I request that the following changes be entered upon the record for the reasons indicated. I have signed my name to the signature page, and I authorize you to attach the following changes to the original transcript:

PAGE	LINE	CORRECTION OR CHANGE AND REASON THEREFORE
31	2	occlude → collapse
45	6	Shona
45	2	ibid
46	18	ibid
48	6	ibid
49	2	"
49	25	"
50	24/25	vessels, macula, periphery -
57	15	Shona
58	5+17	"
61	11	"

3/8/01

Today's date

  
Signature of Deponent