

1 The State of Ohio,)

2 County of Cuyahoga.) SS:

3 - - - - -
4 IN THE COURT OF COMMON PLEAS

5 AMY HOKAJ,)

6)

7 Plaintiff,)

8)

9 -v-) Case Number 227004

10 VANDRA BROTHERS CONSTRUCTION)

11 COMPANY, ET AL.,)

12 Defendants.

13 - - - - -
14 DEPOSITION OF RALPH KOVACH, M.D.
15 Thursday, December 7, 1995

16
17 Deposition of RALPH KOVACH, M.D., called by the Defendants
18 for direct examination under the Ohio Rules of Civil
19 Procedure, taken before me, the undersigned, Renee L.
20 Pellegrino, Registered Professional Reporter, a Notary
21 Public in and for the State of Ohio, pursuant to agreement
22 of counsel, at the offices of Ralph Kovach, M.D., 970
23 Garfield Boulevard, Garfield Heights, Ohio, commencing at
24 10:00 a.m., the day and date above set forth.

25 - - - - -
26
27
28
29
30
31
32
33
34
35
36
37
38
39
40
41
42
43
44
45
46
47
48
49
50
51
52
53
54
55
56
57
58
59
60
61
62
63
64
65
66
67
68
69
70
71
72
73
74
75
76
77
78
79
80
81
82
83
84
85
86
87
88
89
90
91
92
93
94
95
96
97
98
99
100
101
102
103
104
105
106
107
108
109
110
111
112
113
114
115
116
117
118
119
120
121
122
123
124
125
126
127
128
129
130
131
132
133
134
135
136
137
138
139
140
141
142
143
144
145
146
147
148
149
150
151
152
153
154
155
156
157
158
159
160
161
162
163
164
165
166
167
168
169
170
171
172
173
174
175
176
177
178
179
180
181
182
183
184
185
186
187
188
189
190
191
192
193
194
195
196
197
198
199
200
201
202
203
204
205
206
207
208
209
210
211
212
213
214
215
216
217
218
219
220
221
222
223
224
225
226
227
228
229
230
231
232
233
234
235
236
237
238
239
240
241
242
243
244
245
246
247
248
249
250
251
252
253
254
255
256
257
258
259
260
261
262
263
264
265
266
267
268
269
270
271
272
273
274
275
276
277
278
279
280
281
282
283
284
285
286
287
288
289
290
291
292
293
294
295
296
297
298
299
300
301
302
303
304
305
306
307
308
309
310
311
312
313
314
315
316
317
318
319
320
321
322
323
324
325
326
327
328
329
330
331
332
333
334
335
336
337
338
339
340
341
342
343
344
345
346
347
348
349
350
351
352
353
354
355
356
357
358
359
360
361
362
363
364
365
366
367
368
369
370
371
372
373
374
375
376
377
378
379
380
381
382
383
384
385
386
387
388
389
390
391
392
393
394
395
396
397
398
399
400
401
402
403
404
405
406
407
408
409
410
411
412
413
414
415
416
417
418
419
420
421
422
423
424
425
426
427
428
429
430
431
432
433
434
435
436
437
438
439
440
441
442
443
444
445
446
447
448
449
450
451
452
453
454
455
456
457
458
459
460
461
462
463
464
465
466
467
468
469
470
471
472
473
474
475
476
477
478
479
480
481
482
483
484
485
486
487
488
489
490
491
492
493
494
495
496
497
498
499
500
501
502
503
504
505
506
507
508
509
510
511
512
513
514
515
516
517
518
519
520
521
522
523
524
525
526
527
528
529
530
531
532
533
534
535
536
537
538
539
540
541
542
543
544
545
546
547
548
549
550
551
552
553
554
555
556
557
558
559
560
561
562
563
564
565
566
567
568
569
570
571
572
573
574
575
576
577
578
579
580
581
582
583
584
585
586
587
588
589
590
591
592
593
594
595
596
597
598
599
600
601
602
603
604
605
606
607
608
609
610
611
612
613
614
615
616
617
618
619
620
621
622
623
624
625
626
627
628
629
630
631
632
633
634
635
636
637
638
639
640
641
642
643
644
645
646
647
648
649
650
651
652
653
654
655
656
657
658
659
660
661
662
663
664
665
666
667
668
669
670
671
672
673
674
675
676
677
678
679
680
681
682
683
684
685
686
687
688
689
690
691
692
693
694
695
696
697
698
699
700
701
702
703
704
705
706
707
708
709
710
711
712
713
714
715
716
717
718
719
720
721
722
723
724
725
726
727
728
729
730
731
732
733
734
735
736
737
738
739
740
741
742
743
744
745
746
747
748
749
750
751
752
753
754
755
756
757
758
759
760
761
762
763
764
765
766
767
768
769
770
771
772
773
774
775
776
777
778
779
780
781
782
783
784
785
786
787
788
789
790
791
792
793
794
795
796
797
798
799
800
801
802
803
804
805
806
807
808
809
810
811
812
813
814
815
816
817
818
819
820
821
822
823
824
825
826
827
828
829
830
831
832
833
834
835
836
837
838
839
840
841
842
843
844
845
846
847
848
849
850
851
852
853
854
855
856
857
858
859
860
861
862
863
864
865
866
867
868
869
870
871
872
873
874
875
876
877
878
879
880
881
882
883
884
885
886
887
888
889
890
891
892
893
894
895
896
897
898
899
900
901
902
903
904
905
906
907
908
909
910
911
912
913
914
915
916
917
918
919
920
921
922
923
924
925
926
927
928
929
930
931
932
933
934
935
936
937
938
939
940
941
942
943
944
945
946
947
948
949
950
951
952
953
954
955
956
957
958
959
960
961
962
963
964
965
966
967
968
969
970
971
972
973
974
975
976
977
978
979
980
981
982
983
984
985
986
987
988
989
990
991
992
993
994
995
996
997
998
999
1000

1 APPEARANCES :

2 On Behalf of the Plaintiff:

3 Philip J. Korey, Esquire
4 Kathleen O'Malley, Esquire
5 75 Public Square
Suite 1320
Cleveland, Ohio 44113

6 On Behalf of Defendant Vandra Brothers
7 Construction Company:

8 Mark A. Greer, Esquire
9 Gallagher, Sharp, Fulton & Norman
The Bulkley Building
1501 Euclid Avenue
Cleveland, Ohio 44115

10 On Behalf of Defendant City of Rocky River
11 and Osterland Company:

12 John V. Rasmussen, Esquire
13 14650 Detroit Avenue
Suite 450
14 Lakewood, Ohio 44107

15 - - - - -
16
17
18
19
20
21
22
23
24
25

1 RALPH KOVACH, M.D.

2 called by the Defendants for direct examination under the
3 Ohio Rules of Civil Procedure, after having been first duly
4 sworn, as hereinafter certified, was examined and testified
5 as follows:

6 - - - - -

7 DIRECT EXAMINATION

8 - - - - -

9 BY MR. GREER:

10 Q Doctor, would you please introduce yourself to the
11 ladies and gentlemen of the jury?

12 A My name is Ralph Kovach and I'm an orthopedic
13 surgeon.

14 Q Doctor, where are we today?

15 A Here at my office at 9700 Garfield Boulevard in
16 Cleveland.

17 Q Doctor, could you describe for the jury your
18 educational background?

19 A I attended the University of Dayton, graduated 1950,
20 bachelor of science degree, and graduated from
21 Loyola University School of Medicine in Chicago, Illinois
22 in 1953 with an M.D. degree.

23 Q After graduating from Loyola, Doctor, did you undergo
24 any additional training?

25 A Yes. I took five more years of training. One year

1 was an internship at St. Luke's from 1953 to '54 and then
2 four years of training in orthopedic surgery and that was
3 1954 to 1958.

4 Q Where was that at, Doctor?

5 A St. Luke's in Cleveland.

6 Q Doctor, after you completed that training did you go
7 into the private practice of medicine?

8 A Yes, I did.

9 Q Are you licensed to practice medicine, Doctor?

10 A Yes.

11 Q When were you licensed?

12 A 1953.

13 Q What state?

14 A Ohio.

15 Q Could you describe for the ladies and gentlemen of
16 the jury your experience in the field of medicine, Doctor?

17 A Well, as I mentioned, I had a training program and
18 I've practiced orthopedics exclusively since that time.

19 Q Doctor, could you describe for the ladies and
20 gentlemen what the area of orthopedics entails?

21 A That's a division of surgery that deals with the
22 musculoskeletal system. That's a big word meaning the
23 muscles, joints, tendons, ligaments and nerves as they
24 involve locomotion.

25 Q Doctor, where do you have privileges at today?

1 A St. Luke's, St. Alexis, Marymount, Deaconess
2 Hospitals.

3 Q Are you a member of any associations, Doctor?

4 A Yes.

5 Q What associations?

6 A Locally it's the Academy of Medicine and the'
7 Cleveland Orthopedic Society. Statewide it's the Ohio
8 Medical Association and the Ohio Orthopedic Association.
9 And nationally it's the A.M.A., the American Academy of
10 Orthopedic Surgeons, Mid-America Orthopedic.

11 Q Doctor, what positions do you hold or have you held
12 in the past at local hospitals or organizations?

13 A Well, at St. Luke's I've been head of orthopedics for
14 approximately 20 years till I relinquished that two years
15 ago. I've been president of the medical staff on two
16 occasions, the last was two years when I stepped down one
17 year ago.

18 Q Doctor, are you board certified?

19 A Yes.

20 Q Are all doctors board certified?

21 A No.

22 Q What does it mean to be board certified?

23 A That means that your training ~~has~~ been examined by a
24 board set up to certify you in a particular field. In this
25 case it's the American Board of Orthopedic Surgery and they

1 reviewed my training and felt that it was satisfactory and
2 was given a written and oral examination and I completed
3 that satisfactorily. Then my practice was evaluated for a
4 period of two years and when that was found to be
5 satisfactory then I was allowed to take another written and
6 oral examination, part two, and completing that I was then
7 certified.

8 Q Doctor, do you perform surgery?

9 A Yes.

10 Q Approximately how *many* times per week do you perform
11 surgery?

12 A At this time about two to three times a week.

13 Q Was the frequency of that any greater?

14 A Yes.

15 Q When was that?

16 A Well, in the last few years when the practice
17 patterns have changed.

18 Q Doctor, we're here today for your trial testimony and
19 is there a charge associated with your time today?

20 A Yes.

21 Q Now, Doctor, have you had an opportunity to review
22 the medical records and examine the Plaintiff, Amy Hoka, j,
23 in this case?

24 A Yes.

25 Q Could you describe for the ladies and gentlemen of

1 the jury what history you obtained from the Plaintiff?

2 A First of all, I examined this young lady on September
3 14th of 1995. That's this year. She told me that she was
4 employed as a social worker at one of our local hospitals,
5 she was 28 years of age and she said that she sustained an
6 injury around the 25th of June in 1991. So that was
7 approximately four years before I examined her.

8 And she said that on that day in 1991 she was walking
9 home and was crossing the street and it was about 12:45 in
10 the morning and she stepped into a hole that was in the
11 street. She did not see this and therefore her left foot
12 and leg went down into the hole and she said that it went
13 in as deep as her upper thigh.

14 As she went in she said she twisted the leg and said
15 that the leg was bruised and that her left ankle and knee
16 were painful. And she said she called for help, no help
17 was available, so she said she was able to get out of that
18 depression and kind of hop into a house and then was able
19 to go to Fairview Hospital.

20 She said she was examined there, that x-rays were
21 taken, no fractures were found and that they put an
22 immobilizing type of dressing onto her ankle and she said
23 she was using crutches and then went for further treatment
24 to Dr. John Wilbur who had been treating her before.

25 And he treated her and sent her for physical therapy

1 for approximately six to eight weeks and this is primarily
2 treating the ankle. They used various modalities of
3 treating her, including balance boards and exercises and
4 strengthening exercises as well. And she said that this
5 improved and she told me that her left knee continued to be
6 painful. She said it was the kneecap and that this was
7 painful and so that eventually she had to have a
8 realignment operation on that kneecap and she said that
9 they put some screws in at the time, but she required a
10 second operation for removal of one of the screws that was
11 causing her some pain.

12 So at the time I saw her she said she was still
13 having chronic tendon type of pain and when she said that,
14 she pointed to the upper part of the kneecap and said that
15 this area became worse with activity.

16 And that she had been on various types of medication
17 in the past but she wasn't taking any medicines at the time
18 that I saw her.

19 And she said she was having a dull, aching pain again
20 over the upper, inner part of the left kneecap and she also
21 said that her knee would feel weaker and that she would
22 tire more easily, and when she walked on uneven surfaces
23 she would have more of a problem with her knee.

24 And so I asked her if she had had any prior problems -
25 before this had occurred.

1 Q Did she, Doctor, give **you** a prior medical history for
2 any problems she was having before the incident **on** June
3 21st, 1991

4 A Yes. She said that she was having problems with that
5 knee and that way back ~~in~~ 1981 she had had torn cartilages
6 in that knee and she also used the word that she said she
7 had chondromalacia in that knee, and that's a long word
8 that means softening of cartilage that lines surfaces of
9 bone.

10 So she said she was operated in 1981 and that she had
11 another operation **on** that knee in 1988 and that was what
12 they call a lateral release operation and that means that
13 the tissues that hold the joint together to the outer side
14 of the kneecap were cut through and released so that the
15 pull of the muscles on the outer side would **not** pull as
16 much **on** the kneecap **in** that ~~manner~~ and allow the muscles **on**
17 the inner side of the kneecap to function and pull **it** more
18 toward the midline.

19 Q That was in 1988, Doctor?

20 A Yes, that was in 1988. **And** she said the original
21 **injury that** she had was way back in '81 and that was when
22 **she was jumping on a trampoline.**

23 Q Now, Doctor, did she indicate to you the **number of**
24 **knee operations she had had before the incident in June of**
25 **'91?**

1 A The only two that I mentioned -- there may have been
2 more, but what I wrote down and what she told me were the
3 two, one where she had the torn cartilage and then that
4 lateral release.

5 Q Doctor, subsequently did you have a chance to review
6 the medical records concerning the Plaintiff?

7 A The records that were submitted to me, yes.

8 Q Did you see in the records that the Plaintiff had had
9 six prior knee operations before the incident in June of
10 '91?

11 A I didn't note the number as such.

12 MR. KOREY: What was the answer?

13 THE WITNESS: I didn't note that there
14 were six.

15 Q Doctor, did the Plaintiff indicate to you that she
16 had been advised at one point that she needed a total knee
17 replacement?

18 A No, she didn't tell me that.

19 Q Doctor, if you could describe for the jury, and if
20 you need to use your model, do that, Doctor, if you could
21 please give the jury an idea as to the condition of the
22 Plaintiff's knee and what problems she was having before
23 the June 21st, 1991 incident.

24 A Reviewing the records that were submitted and these
25 were primarily the records of the doctor who took care of

1 her, was Dr. Wilbur, they described what he called
2 chondromalacia symptoms, in other words, of the kneecap,
3 symptoms that could be attributed to softening of the
4 cartilage, due to, in this instance what he said was
5 abnormal tracking of the kneecap, and with abnormal you
6 have to know that the kneecap rides in a groove that's in
7 the thigh bone. So if I have a model here to illustrate
8 what we're talking about, this would be the model and this
9 is plastic, so it's not real bone, but this would be an
10 illustration of the muscles, but it's only a central
11 portion of the muscles that move the kneecap, and when that
12 pulls, that transmits forces through the kneecap down to
13 the area that's just below our knee called the tibial
14 tubercle. When this pulls, this is what straightens the
15 knee out.

16 And when that's done, this kneecap -- you'll notice
17 this is like a wedge and it rides in a groove at this
18 point, so if that's tracking -- and this would be the outer
19 side, that's the fibula bone -- if it's tracking abnormally
20 as the doctor described, this was not riding in the groove
21 but it was riding a little bit off-center and as a result
22 this would be riding with abnormal forces pulling the
23 surfaces together rather than riding the central portion.

24 So that's what the doctor was describing as lateral
25 tracking, and was talking about another thing we call

1 increased q. angle. Now, q. means quadriceps which is the
2 four muscles, four, quadro, muscles that attach to the
3 kneecap, the central portions and muscles on either side.
4 So there's four muscles. And the center of that kneecap of
5 the muscle is one and then a line that goes down the center
6 from the middle of the kneecap to the tibial tubercle is
7 another. Now, if this line is increased in that direction,
8 that's what we mean by an increased q. angle, and then that
9 would mean that again this is pulling off-line on your
10 forces rather than keeping your kneecap centered in that
11 groove.

12 So essentially that's what she had, she had an
13 increased q. angle, this was tracking laterally and this
14 was giving her problems.

15 The first operation she had before Dr. Wilbur
16 operated was releasing, as we say, the lateral release,
17 cutting these forces, the muscles on the outer side to kind
18 of weaken them so that the muscles on this side would tend
19 to keep it more in that direction, so that was the purpose
20 of the lateral release and of course that had failed and
21 wasn't working for her.

22 Q And that was essentially the condition of the
23 Plaintiff on the day of the incident, June 21st, 1991

24 A Yes.

25 Q Doctor, did *you* have an opportunity to *examine* the

1 Plaintiff?

2 A Yes, I did.

3 Q Could you describe for the ladies and gentlemen of
4 the jury what your examination consisted of?

5 A Well, the general examination primarily centered on
6 her knee and the ankle and on the left side. This was a
7 very cooperative young lady. She was well-nourished. She
8 did not appear to be in pain or difficulty. At the time I
9 saw her she was walking without a limp. The left knee
10 showed that there was scarring secondary to the operations
11 that she had had and these are some of the things that I
12 drew in my notes.

13 She had a scar down in the midline where she had the
14 main operation. Over on the upper outer side she had that
15 scar from the lateral release operation and then she had
16 multiple small scars where arthroscopy incisions had been
17 made and she was slightly knock kneed, in other words, when
18 I put her knees together, put three fingers between your
19 ankles, we call that a Genu valgum, she was born with
20 that.

21 And the examination showed that she had a full range
22 of movement, in other words, she could fully extend her
23 knees and bend her knees the same. There was no difference
24 from side to side. When she did bend the knee, you could
25 feel a little grinding sensation as the kneecap was

1 rubbing. I'm attributing that to the kneecap. We call
2 that crepitation. It wasn't severe, but it was present.
3 **When** I would push the kneecap down against the underlying
4 bone and move it, we call it a grinding test, that was
5 painful to her. And she also was quite tender in the area
6 where she told me she was having the pain along her kneecap
7 area.

8 And remember this is a skeleton and the muscles are
9 stripped, but this would be the upper inner side. These
10 would be muscles that attach through ligamentous tissue to
11 the bone and that's how it's attached. Well, she was
12 tender in that area, but you have to remember, she also had
13 surgery in that area, this was cut and repaired and this
14 muscle was advanced, reading the doctor's operative report,
15 so there would have been scar tissue from surgery in that
16 area, so she was tender in that area.

17 I also checked for stability, see if the ligaments
18 were loose or lax or torn. They were not. She had good
19 stability.

20 At the time I saw her I didn't detect *any* unusual
21 swelling. In other words, there wasn't *any* excess joint
22 fluid present.

23 We also do what we call a McMurray test to see if the
24 cartilages inside the knee -- and if I'll pull this aside
25 again, if you look down the top of the knee you see two

1 washer-like things. That's usually what we'll call a torn
2 cartilage or meniscus. The test is a grinding test to see
3 if those are torn. That was a normal test. So by physical
4 examination I didn't detect any problem with that.

5 The scars that she had were non-tender, they weren't
6 painful, and examined her left ankle and at that time there
7 was no swelling of the ankle. There was no area that was
8 painful or tender to pressure. I checked it for stability
9 and the ankle was stable, the ligaments were intact. She
10 had complete range of movement. When I compared it to the
11 opposite side, there was no restriction of movement. And
12 in the ankle there was no crepitation or this grinding
13 feeling that you check for.

14 Then I took x-rays of the ankle and of the knee and
15 the reason for taking that is to see if the injuries had
16 produced any arthritic change8 and I didn't see any on the
17 x-ray studies of the ankle. The x-rays of the knee did
18 show that she had had an operation and the operation that
19 was done was in this area where this insertion of the
20 tendon was cut and then rotated and they had a couple of
21 screws in there, There was still one screw in that
22 area, but the bone that had been twisted and changed in
23 position had solidly united. And the x-ray also did show
24 there was a small rounded bit of calcium inside the tendon -
25 there and I presume that was secondary to the operation but

1 it's within the substance of the tendon down to this level,
2 not involving the knee joint.

3 Q Doctor, did you have a chance to review the medical
4 records pertaining to the Plaintiff?

5 A Yes.

6 Q Did you have an opportunity to review the office
7 notes of Dr. Wilbur?

8 A Yes.

9 Q Doctor, you have those notes in front of you I
10 believe?

11 A Yes, I have.

12 Q And they were previously marked, I believe, for
13 identification purposes as Defendants' Exhibit A. Now,
14 Doctor, I'd like you to turn to Dr. Wilbur's note beginning
15 on February 12th of 1991.

16 A Yes, I have that.

17 Q Doctor, at that time what problems was the Plaintiff
18 having?

19 A On February 12th you'll notice that she was being
20 followed up for an arthroscopic debridement of her knee.

21 Q Was she having problems at that time?

22 A It says that patella tracks reasonably well, though
23 she still has some significant pain with patella femoral
24 compression.

25 Q Was there any mention about chondromalacia at that

1 time?

2 A Yes. The assessment, as the doctor put in the note,
3 is that though the patient has improved, she is still
4 having significant patella femoral pain. And by patella
5 femoral you mean pain between the kneecap, which is the
6 patella, and the groove, which is the groove within the
7 thigh bone. So in that area she was still having patella
8 femoral pain according to his assessment, and said that
9 tracking overall is adequate although she has significant
10 symptoms of chondromalacia patella. That means softening
11 cartilage of the kneecap.

12 Q Now, on the next visit, Doctor, which was April 30th,
13 1991, was the Plaintiff still having problems with her left
14 knee?

15 A Yes. The doctor states that she continues to have
16 problems with aching pain and it seems to be getting
17 worse with therapy.

18 Q How did he describe the patella femoral pain that she
19 was experiencing at that time?

20 A Well, on physical exam he stated that she ~~has~~
21 moderate to severe patella femoral pain with both dynamic
22 and static compression. That means if she's bending that's
23 dynamic compression, static is just tightening the kneecap.

24 Q What was Dr. Wilbur's assessment at that time,
25 Doctor?

1 A Well, he also said that she still had some lateral
2 tracking. In other words, the kneecap was still off to the
3 side. That's what he said. And his assessment was that
4 she continues to have problems with patella femoral pain
5 and she has worked very hard with therapy and has really
6 failed considerably with treatment.

7 Q What does that mean, Doctor?

8 A It means that if you don't operate, that's it, that
9 she's as good as she's going to be, no more treatment other
10 than surgery is going to improve her condition.

11 Q Does this note indicate what he advised the Plaintiff
12 at that time?

13 A Yes. He discussed the options of an open possible
14 realignment. That means by surgery they would give the
15 various, or describe the various things that could be done
16 to improve her condition.

17 Q Now, subsequently, Doctor, it appears that Dr. Wilbur
18 saw her on June 25th of 1991 for her ankle sprain and then
19 he saw her in follow-up on July 22nd of 1991 and August
20 26th of 1991?

21 A Yes.

22 Q On the follow-up visits in July and August of 1991
23 did Dr. Wilbur discuss the Plaintiff's knee at all?

24 A Which date, sir?

25 Q July 22nd of 1991 and August 26th of 1991.

1 A On July 22, yes, he did discuss that.

2 Q Were the problems the Plaintiff was experiencing on
3 July 22nd of 1991 and August 26th of 1991 any different
4 than the problems she was having before she stepped into
5 the hole on June 21st, 1991?

6 A No, they are no different.

7 Q They are the same problems?

8 A The same problems.

9 Q Did Dr. Wilbur's examination find anything any
10 different?

11 A No, same findings.

12 Q Had the Plaintiff gotten any worse according to the
13 notes, Doctor?

14 A No, not according to what he's written here.

15 Q Now, Doctor, let's talk about the Plaintiff's ankle.

16 A Yes.

17 Q Dr. Wilbur first saw the Plaintiff on June 25th, 1991
18 for that ankle injury?

19 A Yes.

20 Q What treatment did she have following that ankle
21 injury?

22 A The treatment was an air splint and that's the splint
23 that goes around from one side of your ankle to the other
24 side, something like a sugar tong grasping you like that,
25 and it has air in it for compression and also for softness.

1 and it's rigid on the outside and these are affixed with a
2 couple of straps. So that's one type of splint. That's to
3 keep your ankle from moving from side to side when you're
4 weight bearing in order to protect it during the healing
5 phase of an ankle sprain.

6 So that was applied and his opinion when he examined
7 her was that she had probably a grade two sprain. Now, a
8 grade two sprain is one that would probably mean that some
9 fibers of the ligament were torn but there was not a
10 complete tearing. In other words, her ankle was stable but
11 some fibers were probably torn because that was his opinion
12 primarily because of the tenderness over the ligaments and
13 the swelling that was present.

14 And so he referred her for therapy to strengthen the
15 ankle. Now, you don't strengthen the ligaments. Ligaments
16 heal whether you do anything or not. They'll heal. I
17 don't care whether you cook them, freeze them, whatever,
18 ultrasound on them, whatever, anything, it still takes
19 three to six weeks to heal no matter what you do, but what
20 he sent her for was to keep the muscles that move, because
21 there are no muscles that go across a joint but your calf
22 muscles and your foot muscles, in tone so that they would
23 not atrophy and so as a result when you walk your muscles
24 can tighten and support you so you don't go onto a sprained-
25 ankle. So that's what he was sending her for and that was

1 appropriate.

2 Q Doctor, the Plaintiff followed up for her ankle on
3 July 22nd and August 26th of 1991?

4 A Yes.

5 Q How did her condition change after the initial ankle
6 injury?

7 A On July 22, which is approximately four weeks after
8 he had first seen her, he said the ankle was sprained, was
9 resolving slowly, he noted she needs continual therapy and
10 he would see her again in about six weeks.

11 And when he saw her on August 26th, which is about
12 eight weeks afterwards, she had completed the therapy
13 program, he said that she is feeling much better and at
14 that time his physical exam, he stated that she still has
15 some mild tenderness over the anterior fibular tarsal
16 ligament, that means the outer side of the ankle, had a
17 full range of motion with good strength, no evidence of
18 instability. That was her ankle. His assessment was that
19 the ankle sprain was well-resolved and she is doing well.

20 Q Did Dr. Wilbur render any more treatment for the
21 Plaintiff for her left ankle after that visit, Doctor?

22 A I believe that was the end of the treatment for the
23 ankle as such. The remaining notes, as far as I can tell,
24 did not address the ankle at all.

25 Q Now, Doctor, did you also have an opportunity to

1 review the admission notes of the Plaintiff for the surgery
2 in January of 1992. And I believe, to make things easier,
3 Doctor, it's page 24 of that stack.

4 A Page 24, yes.

5 Q And that's of exhibit -- what's been previously
6 marked as Plaintiff's Exhibit 42.

7 THE VIDEOGRAPHER: We're off the
8 record.

9 (Recess had.)

10 THE VIDEOGRAPHER: We're on the
11 record.

12 Q Doctor, you have in front of you page 24 of
13 Plaintiff's Exhibit 423

14 A Yes.

15 Q What is that, Doctor?

16 A This is a summary, usually called a discharge summary
17 of a hospital admission, and it's of -- at MetroHealth
18 Medical Center regarding Amy Hokaj and relates to her
19 admission on January 15th of '92 and discharge of January
20 17th, '92.

21 Q Doctor, what was the diagnosis which was listed
22 there?

23 A It says, "Left subluxing patella with chondromalacia
24 patella." And when we use the word subluxing, it means
25 that it's partially dislocating. Luxation means complete

1 dislocation, sub means partial dislocation. So it says
2 partial dislocating left kneecap with softening of the
3 cartilage underneath the kneecap.

4 Q Now, Doctor, those were the conditions that the
5 Plaintiff was suffering from before the June 21st, 1991
6 incident?

7 A Yes

8 MR. KOREY: Objection.

9 Q Is there any indication in the admission note that
10 the June 21st, 1991 incident had any impact or anything at
11 all to do with the Plaintiff's surgery on January 15th,
12 1992?

13 A No, there is none.

14 MR. KOREY: Objection.

15 Q Doctor, what does the history indicate?

16 A The history indicates that, according to what's typed
17 here, this is a 24 year old white female who has had
18 trouble with left knee pain for the past ten years. She's
19 had multiple episodes of subluxing of the left patella.
20 She's had a lateral release in the past which did not
21 benefit her. She has therefore elected to have the
22 realignment procedure.

23 Q Is there anything in the history which indicates that
24 the injury -- that she sustained an injury to her left
25 ankle or that left ankle injury had anything to do with the

1 procedure and problems she was having?

2 A No.

3 MR. KOREY: Objection.

4 Move to strike.

5 Q Doctor, have you also had a chance to review Dr.
6 Wilbur's report which was authored subsequent to that
7 procedure?

8 A Yes, I have.

9 Q When was that report authored, Doctor?

10 A That report was authored approximately by date two
11 weeks after she had had the knee operation, which was the
12 realignment procedure.

13 Q Doctor, in Dr. Wilbur's report where he describes the
14 injuries that the Plaintiff sustained as a result of
15 stepping in the hole on June 21st, 1991, did he discuss or
16 in any way mention the Plaintiff's left knee?

17 A No, he did not.

18 Q Doctor, I'd like to ask you some questions today
19 about what, if any, injuries the Plaintiff sustained when
20 she stepped in the hole on June 21st, 1991, okay?

21 A Yes.

22 Q Now, Doctor, do you have an opinion to a reasonable
23 degree of medical certainty as to what injury, if any, the
24 Plaintiff sustained when she stepped in the hole on June
25 21st, 1991?

1 A Yes.

2 Q What is your opinion, Doctor?

3 A My opinion is that she sprained her left ankle and
4 had a bruise on her knee.

5 MR. KOREY: Objection.

6 Q Doctor, do you have an opinion to a reasonable degree
7 of medical certainty as to how the Plaintiff's injury moved
8 through time in terms of her left ankle?

9 A Her left ankle gradually improved, so that at the
10 time that I saw her -- well, let me go back to the doctor's
11 records. Essentially eight weeks after the injury, for
12 practical purposes, she had recovered from the sprained
13 ankle and when I saw her there were no residuals on that
14 ankle.

15 Q Now, Doctor, with regards to the Plaintiff's left
16 knee, do you have an opinion to a reasonable degree of
17 medical certainty as to whether the incident on June 21st,
18 1991 had any impact whatsoever on her left knee?

19 MR. KOREY: Objection.

20 A The only impact that it had was that she had a bruise
21 that she described, that there was an abrasion or something
22 on the inner side of her knee. Other than that, she didn't
23 make this knee any worse than it was.

24 Q Doctor, do you have an opinion to a reasonable degree--
25 of medical certainty as to whether the surgery the

1 Plaintiff underwent in January of 1992 and July of 1992 had
2 anything whatsoever to do with her stepping in the hole on
3 June 21st, 19913

4 MR. KOREY: Objection.

5 A No, it had none.

6 Q Can you describe for the ladies and gentlemen why
7 that is, Doctor?

8 A Well, she did not really injure anything in the
9 knee. She had chronic problems which were not made *any*
10 worse. She had this chondromalacia, as the doctor kept
11 describing, before she ever had this particular incident
12 occur. All of his findings were exactly the same. He did
13 not even describe or examine her knee as such when she
14 first presented herself to him following that. The only
15 notation was that she said she had a bruise on it which
16 she told me when she went to Fairview emergency room. That
17 was it. She did not mention the kneecap in any way and
18 that was it. It's just chronic problems that existed
19 before this accident and were not made any worse and did
20 not cause her to have the operation *any* sooner than she
21 elected to have the operation.

22 Q Doctor, do you have an opinion to a reasonable degree
23 of medical certainty as to what caused the Plaintiff to
24 have surgery in January of 1992 and July of 19923

25 A Those are all pre-existing problems with chronic knee

1 complaints.

2 Q Now, Doctor, you're aware that Dr. Wilbur testified
3 about the Plaintiff not being able to do all of her normal
4 exercises and physical therapy in terms of her left knee
5 following her ankle sprain and that that had an impact on
6 her left knee condition.

7 Do you have an opinion to a reasonable degree of
8 medical certainty as to whether the lack or the reduction
9 in the amount of physical therapy the Plaintiff was able to
10 do had any impact on her knee condition?

11 A I have an opinion.

12 Q What is that, Doctor?

13 A It had no influence whatsoever. Her problem was that
14 she had a subluxating knee which is never ever corrected by
15 exercise. Whether she did them or whether she didn't do
16 them, the ultimate outcome is the same. You're just trying
17 to strengthen these muscles and the muscles themselves were
18 in the wrong alignment and that had nothing to do with her
19 complaints, so in regards to how long she would exercise,
20 she certainly did that over the years, that never improved
21 her, and as the doctor stated, even before she had the
22 particular incident occur that we're discussing here, that
23 her conservative treatment had failed, he was already of
24 that opinion.

25 Q Doctor, do you have an opinion to a reasonable degree

1 of medical certainty as to whether **any** of the problems the
2 Plaintiff continues to experience today are related to the
3 incident where she stepped **in** the hole in June of **1991**?

4 **MR. KOREY:** Objection.

5 **A** I have **an** opinion.

6 **Q** ~~What~~ **is** that opinion, Doctor?

7 **A** It was **a** pre-existing condition that **is** giving her
8 the ongoing problems, but since surgery of course to a much
9 lesser degree than before she had the operation, but **none**
10 of the complaints of the knee **as** such are related to the
11 accident.

12 **MR. GREER:** **Thank** you, Doctor, I have
13 no further questions.

14 **MR. KOREY:** Off the record.

15 **THE VIDEOGRAPHER:** We're off the
16 record.

17 (Recess had.)

18 **THE VIDEOGRAPHER:** We're on the
19 record.

20 - - - - -

21 **CROSS-EXAMINATION**

22 - - - - -

23 **BY MR. KOREY:**

24 **Q** Doctor, I'm Phil Korey. **Ms. O'Malley** and I represent
25 **Amy Hokaj.** **Thanks** for being here.

1 Doctor, is this the first time -- you understand that
2 Mr. Greer works for the law firm of Gallagher, Sharp;
3 you're aware of that?

4 A Yes.

5 Q And on how many occasions in the past has Gallagher,
6 Sharp asked you to make a medical examination of someone
7 who was not your patient?

8 A I don't know, but they have asked me in the past on
9 more than one occasion.

10 Q And I notice that there was a bill in your file for
11 \$4501

12 A Sure. I don't do this free. It's not pro bono.

13 Q Now, would that fee be paid by the law firm, Mr.
14 Greer's law firm?

15 A I'm sure his law firm or whoever subsidizes his fees.

16 Q And that fee for examining Amy Hokaj and preparing
17 the report was \$4501

18 A Yes, sir.

19 Q And you conducted what, an examination of Amy Hokaj.
20 About how long were you with Ms. Hokaj?

21 A I don't know, but between 20 to 30 minutes.

22 Q And you understand from the notes that Dr. Wilbur
23 had been regularly seeing her as a patient since 1990?

24 A Yes.

25 Q Now, on occasions in the past a number of times

1 defense firms and insurance companies asked you to evaluate
2 a patient?

3 A Yes, sir.

4 Q In 1994 you testified at least at that time that you
5 do approximately four medical exams for either law firms or
6 insurance companies a week?

7 A Yes, that's true.

8 Q Is that about the same number as now?

9 A Yes.

10 Q So four a week and would they be always asking you to
11 prepare a report?

12 A Well, usually.

13 Q Would your fee be the same, \$4503

14 A Yes.

15 Q So that would be approximately \$1,800 a week that you
16 would receive this year and last year for doing these types
17 of medical exams?

18 A It might be, yeah.

19 Q And if there's 50 weeks in a year, that would mean
20 that you would earn approximately \$90,000 from doing these
21 types of examinations?

22 A First of all, it's more than 50 weeks in a year, it's
23 52 weeks a year, and I usually am gone at least four weeks
24 out of a year off and on, so subtract four weeks.

25 Q So subtracting four weeks --

1 A But what does it matter? I rely on your figures. I
2 know you're correct.

3 Q So it's approximately \$90,000 a year?

4 A Yes. We're approximating, sure.

5 Q What percent of the -- and again, just an
6 approximation, Doctor -- what percent of your total income
7 is based on these types of medical exams?

8 A I don't know. I'd say perhaps maybe 10 percent, 13
9 percent of individuals whom I examine are in one way or
10 another involved in a legal matter.

11 Q You mentioned that the course of practice has changed
12 in medicine?

13 A Yes, sir.

14 Q Could you explain a little bit?

15 A Yes. The HMOs, which you know and I know mean health
16 maintenance organizations, have prevented many people from
17 seeing me because they are insured and they're only allowed
18 to see the other physicians. And at the hospitals where I
19 practice many of the physicians have also lost their
20 patients in this manner. As a result, the referrals have
21 to go elsewhere to people who are enrolled in other HMOs,
22 and of course I can't belong to every HMO. I'm prevented
23 by companies that have their own physicians, for example,
24 Kaiser. These are full-time people under salary and I no
25 longer can take care of people who have that insurance, so

1 this is what I mean by the practice of medicine has changed
2 and this is in general throughout the whole area.

3 Q Now, you're aware that Dr. Wilbur is the director of
4 orthopedic surgery of trauma at University Hospitals?

5 A I'm not aware of it, but he may very well be.

6 Q Have you ever been published, Doctor?

7 A No, sir.

8 Q Ever lectured on a national level?

9 A No.

10 Q And I think you've indicated your deposition is taken
11 approximately how many times a year?

12 A Approximately once or twice a month. If people
13 cannot arrive at a mutual agreement, then occasionally a
14 deposition ~~has~~ to be taken.

15 Q What do you charge for being here at a deposition?

16 A \$950.

17 Q So in addition, then, to the approximately \$7,500 a
18 month that you would earn from doing these types of medical
19 examinations, you also receive an additional \$2,000 for
20 doing a deposition?

21 A That's possible, sure.

22 Q And do you know Mr. Jastromb who is working the video
23 here?

24 A I've seen him before.

25 Q And he's conducted other depositions of you?

1 A I'm sure he **has**.

2 Q Do you keep a separate calendar for patients -- of
3 your own patients versus patients you're examining for
4 insurance companies or law firms?

5 A No, sir.

6 Q So they would be all in the same book?

7 A Yes.

8 Q So you saw Ms. Hokaj for approximately 20, 25 minutes
9 in your office here?

10 A Yes.

11 Q But you were never her treating physician?

12 A No. I just examined her to give an independent
13 examination and the report.

14 Q Yes, I understand.

15 And you were not with her during any of the physical
16 therapy that she received?

17 A Obviously not, nor was I in the operating room where
18 she was operated.

19 Q Now, I notice, Doctor, in looking at the file, the
20 records that you reviewed there, I notice that you did not
21 have the physical therapy records when she was undergoing
22 physical therapy for her ankle after the fall?

23 MR. GREER: I'm going to object.

24 Those were just produced by Plaintiff's
25 counsel, I believe, yesterday.

1 Q Doctor, did you meet with Mr. Greer prior to your
2 deposition here this morning?

3 A Sure. Yes.

4 Q And at that time did he give you any of the physical
5 therapy records from Ms. Hokaj during her ankle sprain?

6 A No, he did not produce any new records.

7 Q Perhaps at this time, Doctor, if we could go off the
8 record and you could -- perhaps you could just take a look
9 at them now, if you could.

10 A Sure.

11 THE VIDEOGRAPHER: Off the record.

12 (Recess had.)

13 THE VIDEOGRAPHER: We're on the
14 record.

15 Q Thank you, Doctor.

16 Doctor, with respect to the incident, first, Amy
17 Hokaj was a very cooperative person?

18 A Yes, she was.

19 Q And she freely talked about her condition to you and
20 answered the best she could your questions?

21 A Yes.

22 Q You felt she was a pleasant person?

23 A Yes.

24 Q Doctor, regarding the emergency room records at
25 Fairview Hospital, Doctor, you are aware that x-rays were

1 taken of her left knee in the emergency room that evening
2 of the fall?

3 A Yes.

4 Q And you're aware, Doctor, that the emergency room
5 doctor notes in there that there is soft tissue injury to
6 the left knee?

7 A Can I see that, please?

8 Q Yes. That would be Plaintiff's Exhibit 41,
9 Doctor. Thank you.

10 A There are two diagnoses in here, sir. One states
11 soft tissue injury to the knee, but the one that's typed
12 and described there, nothing but a diagnosis placed there.
13 But if you turn over about three pages you'll see where the
14 physician who examined her is an osteopathic physician,
15 O.D. states soft tissue injury to her ankle.

16 Q Yes.

17 Now, Doctor --

18 A So that looks like it could be an error because
19 there's nothing describing physical examination on the
20 sheet that you pointed to, but the physical examination is
21 described and the x-ray results are described on the other
22 sheet.

23 Q Well, then we could take this one at a time, Doctor.

24 A Yea.

25 Q Well, you agree it does say, "Soft tissue injury,

1 left knee"?

2 A Yes, I do,

3 Q In the physical examination there's also a marking
4 there of a contusion to the left knee?

5 A Yes.

6 Q There's also that she was x-rayed, the left ankle as
7 well as the left knee, correct?

8 A Certainly, sure.

9 Q Now, you mentioned the osteopathic doctor?

10 A That's his degree. Wine is an W.D., his is a D.O.
11 There's no difference.

12 Q Would you say that an orthopedic surgeon is more
13 trained in the area of bone injury than an osteopath?

14 A Well, of course, it certainly is. They're much more
15 trained than an osteopath. Osteopath is just a general
16 medical degree. That's all it is. He's not trained in
17 anything special. It's a myth out there that they are.
18 They are not.

19 Q But this osteopath did order x-rays of the left ankle
20 and the left knee?

21 A Sure. He should have because she said she bumped it.
22 He should check it out.

23 Q Particularly where she indicated where the finding
24 was there was soft tissue injury to the left knee?

25 A What part of the knee? It just says soft tissue.

- 1 Soft tissue means anything other than bone or cartilage.
- 2 Q Soft tissue could include ligaments?
- 3 A Yes.
- 4 Q Muscles?
- 5 A Yes.
- 6 Q Tendon?
- 7 A Yes, and skin.
- 8 Q Was she also prescribed, Doctor, Darvocet for pain?
- 9 A I don't recall, but if it's down there, I'm sure she
- 10 was given it. She had a significant ankle injury. She
- 11 should have had something for pain.
- 12 Q There's an indication, Doctor, there that says
- 13 Darvocet and Motrin?
- 14 A Yes.
- 15 Q That would be given for pain, correct?
- 16 A Well, Motrin --
- 17 Q That would be like an anti-inflammatory?
- 18 A Probably because of its anti-inflammatory properties
- 19 rather than analgesic properties.
- 20 Q But would Darvocet be more related to --
- 21 A Pain.
- 22 Q Now, there's a notation, Doctor, in the emergency
- 23 room report that she arrived there at approximately 1:07
- 24 a.m.; is that accurate?
- 25 A Yes.

1 Q so immediately after the fall she came to the
2 emergency room?

3 A Yes. That's what she said, sure.

4 Q Now, Doctor, are you also aware that when she left
5 the hospital she was on crutches?

6 A Yes.

7 Q Are you aware, Doctor, that when she went to Dr.
8 Wilbur on June 25th, three days later, that she was on
9 crutches?

10 A Yes. They told her to use them, so she should have
11 showed up with them.

12 Q So you're aware, Doctor, that during that time period
13 Amy Hokaj did not put any weight on her left leg at all?

14 A No, I'm not aware of it, but she probably was advised
15 not to.

16 Q And, Doctor, when she came in to see Dr. Wilbur and
17 she -- you mentioned there was no indication regarding the
18 knee at that time?

19 A No, there was none.

20 Q Are you aware, Doctor, that when she began to put
21 weight on the left leg and during physical therapy for the
22 ankle she complained of knee pain?

23 MR. GREER: I am going to object.

24 A The only thing I saw on those notes in the physical -
25 therapy regarding any knee pain was when she was jumping

1 rope, and that of course would be the same thing she was
2 complaining about before, overuse of the knee was painful
3 to her.

4 Q Doctor, in the physical therapy notes on July 1st,
5 what's been marked as Plaintiff's Exhibit 50, if you could
6 follow with me if you could, does it indicate, Doctor, she
7 was -- that she was doing fine to a certain point?

8 MR. GREER: I'm going to object to
9 the form of the question.

10 Q Perhaps I could clarify it, Doctor, if I had the --
11 thank you.

12 Doctor, is there an indication in there, "She was
13 previously very active but has been unable to continue
14 sports activities other than controlled bicycling"?

15 A Yeah, we know that. She had that problem even before
16 that, she was noted by the doctor there that bicycling,
17 because she was going so hard at it, was giving her knee
18 problems. Yeah, that's nothing new.

19 Q There's a statement there that on July 1st, that's of
20 1992, that the patient was started on a range of motion
21 with the board in a non-weight bearing position. Could you
22 explain to us, Doctor --

23 A All that means is you sit down -- this is a balance
24 board and it's -- say you put a board down and a ball
25 underneath it and then you try to roll it from side to

1 side, get your ankle to do that. In other words, you're
2 moving your ankle but you're not doing it standing. Now,
3 this is a recent injury, so it's a range of motion
4 exercise. You're doing them that way instead of you
5 sitting down twirling your ankle around.

6 Q But she's not standing when she's doing this?

7 A No. They didn't want her to stand for that
8 particular because it's early. That's July. She got
9 injured -- was it June?

10 Q Yes, it was June 21st, Doctor.

11 A Okay, so the reason for not doing it standing is if I
12 sprained my ankle and I start stretching that thing and
13 really twisting it, I'm going to cause more injury. All
14 you want to do is just go through a general range of
15 movement without adding any more problems to the area and
16 causing any more injury. So you're stretching an area that
17 was previously injured, it's swollen so it doesn't stretch
18 as easy, but you want to control that so that you don't
19 cause more problems because a swollen part is more easily
20 injured than one that isn't. So it shouldn't be done with
21 the weight bearing. They're treating it properly.

22 Q So overall, Doctor, you would say that the treatment,
23 the physical therapy and the treatment that was rendered by
24 Dr. Wilbur was very good medical treatment?

25 A I would say it's good.

1 Q And you noted that there was, as part of the physical
2 therapy she was asked to do jump roping, correct?

3 A Yes.

4 Q And that aggravated the left knee pain?

5 A I don't know why they asked her to do that. I think
6 that's stupid that they did it and of course the knee is
7 going to hurt. It had been hurting before. *Why* shouldn't
8 it hurt?

9 Q Now, in the end, Doctor --

10 A She already told them right in the first thing that
11 she was having chronic problems and they're making her jump
12 a rope. That's dumb.

13 Q In the last of the physical therapy notes, Doctor, in
14 August, there's a reference here that, "Recommend hightop
15 shoes or an air brace for any sports"?

16 A That's a recommendation of a therapist, not the
17 doctor. And of course, you know, whenever you have an
18 ankle injury, you put a hightop shoe on thinking that's
19 going to make a difference as far as protecting your ankle,
20 but unless it's a special type, it won't ~~make~~ a bit of
21 difference, but that's a protective mechanism so she
22 doesn't reinjure.

23 Q You mentioned that it was a second degree ankle
24 sprain?

25 A I didn't say that. The doctor did. I just tried to

1 elaborate what they mean by second degree.

2 Q You mentioned that there's a ligament tear with a
3 second degree ankle sprain?

4 A Yes. That means it's partial, it's not complete.

5 Q And when the ankle or ligament is stretched, it will
6 never get back to its regular size; is that true, Doctor?

7 A No. That's false. It will get back because the
8 stretching, I should say tearing, it can get back to its
9 regular size and it will heal, but if it's completely torn
10 it will heal with scar tissue.

11 Q And is the ankle as structurally sound as it was?

12 A Of first and second degree, yes, it's as structurally
13 sound as it was.

14 Q Isn't it more open now to other future ankle sprains?

15 A No. She's recovered and protected herself properly.
16 It's not any more subject.

17 Q When you say she has to protect herself properly, she
18 has to do things --

19 A She did it already. She no longer requires *any*
20 protection.

21 Q So you don't agree with the idea, Doctor, that once
22 you have a second degree sprain, it's prone or more
23 susceptible to future injury?

24 A No. The only thing is if you put yourself in a
25 position where you're going to have an injury, you probably

1 will have more of a problem if you're in that position, but
2 you're not *any* more susceptible in ordinary activities of
3 having a recurrent sprain.

4 Q Now, there was some discussion, Doctor, in the notes
5 on July 22nd that Amy complained of additional knee pain?

6 A I don't say additional. Can I see that? I don't
7 think there was additional. I think she complained of knee
8 pain, but I don't think --

9 Q She still complained of the knee pain?

10 A Because the word additional you put in. She did not
11 say that nor did the doctor say that, if I recall
12 correctly.

13 Q Well, if we could look at the notes, Doctor, on --

14 A I have a copy.

15 Q Doctor, on April 30th of -- let me back up just for a
16 second, Doctor.

17 Now, when she had the prior arthroscopy on the
18 lateral release of her knee, that is on an outpatient
19 basis, Doctor?

20 A It can be done on an outpatient basis, usually done
21 that way. When they were first done, usually we kept
22 individuals overnight because of concern that you might
23 have real excessive bleeding.

24 Q But that was nowhere near like the surgery that was
25 performed in January of 1992?

1 A No. That's why it failed. She needed more than
2 that.

3 Q My question, Doctor, is: In the surgery that was
4 performed in January of 1992 they had to go in with a saw
5 on her knee?

6 A In the realignment operation that she had?

7 Q Right.

8 A Absolutely. It's either that or a chisel. You have
9 to cut the bone.

10 Q And they cut the bone in this case?

11 A Yes.

12 Q And they put in two screws?

13 A Yes. That's to hold the bone in its new position.

14 Q When you say it was subluxing, just so I'm clear,
15 Doctor, Amy Hoka's left knee was protruding to the
16 outside?

17 A The kneecap was tracking out of its track and this is
18 what they meant by subluxing patella.

19 Q The purpose of the two screws that was performed by
20 Dr. Wilbur was to get the kneecap from moving left to on
21 the center line?

22 A No. The two screws were to hold the bone that he
23 moved in its position until it could heal in that
24 position. The placement of everything in that position
25 that was done, he did a lateral release, he moved the bone

1 over and rotated it and also he moved the muscle on the
2 inner side a little bit farther down so that you would
3 change the forces on that kneecap.

4 Q This would be major surgery?

5 A Absolutely, sure.

6 Q She was in the hospital for three days?

7 A According to what I see.

8 Q As far as the physical therapy, she had physical
9 therapy thereafter for approximately four months?

10 A Oh, absolutely.

11 Q Now, if we could go, Doctor, to 2-12-91.

12 A Yes, sir.

13 Q And at that point in time Dr. Wilbur indicates that
14 the patella tracks reasonably well?

15 A Yes.

16 Q Meaning, Doctor, that the left kneecap, as I
17 understand it, was not swaying to the left?

18 A No, that's not what he said.

19 Q What does it mean when he says there's no tracking?

20 A Qualifying word reasonably means that it wasn't all
21 that great. It means that it was pretty good, but it
22 wasn't all that great. So when he says reasonably well,
23 the next sentence, which you didn't follow through on, he
24 said she is still having significant pain with patella
25 femoral compression.

1 Q Now, chondromalacia does not mean, Doctor, that your
2 patella is moving to the left, does it?

3 A No. Chondromalacia means -- is a general term
4 meaning soft cartilage.

5 Q So just because you have soft cartilage doesn't mean
6 you have to have realignment surgery of your knee, correct?

7 A It depends on what the soft cartilage is a result of,
8 what's causing it. In this instance it meant she needed
9 realignment of her knee.

10 Q Well, you agree that chondromalacia doesn't
11 necessarily mean that the left kneecap is moving to the
12 left?

13 A No.

14 Q Now, with respect to April 30th, 1991 -- there was
15 some discussion there when counsel asked you -- does he
16 indicate in that third paragraph, Doctor, on the
17 assessment, "I discussed the options of a proximal
18 realignment"?

19 A Yes. Of an open operation, yes.

20 Q And on August 26th after the fall doesn't Dr. Wilbur
21 indicate she should have both a proximal and a distal
22 realignment?

23 A Yes.

24 Q And so at that point earlier before the fall he
25 described it as an option and on August 26th of 1991 he

1 described that she should have it, correct, that's what his
2 notes indicate?

3 A Well, those are the words used, but he's telling her
4 what operations can be done because obviously, as he
5 stated, she ~~has~~ really failed conservative treatment. He
6 said that on April 30th.

7 Q My question is in August of '91 he indicates that he
8 should have both a proximal and a distal realignment?

9 A Yes. That's probably what he should have told her
10 when he saw her in April.

11 Q Doctor, you agree there's a difference between
12 contemplating surgery and having to have surgery?

13 A You don't contemplate it unless you really feel the
14 individual will require the surgery, otherwise you don't
15 talk operation.

16 Q Well, isn't with your patients -- I don't mean the
17 patients you examine for --

18 A I mean my own patients. I don't talk about an
19 operation unless I'm thinking that yeah, you ought to have
20 this and this is what you can expect, but it's the
21 individual's option whether or not they will agree to have
22 it. After all, it's their body, you don't want to assault
23 anybody.

24 Q Yes, you certainly don't want to do that.

25 Now, would you agree, Doctor, that there are many

1 occasions where a patient -- it's within the treatment plan
2 to have surgery and some patients go along with it and in
3 other cases the doctor requires them to have surgery;
4 that's quite a difference, isn't it?

S A Well, the doctor could never require anyone to have
6 an operation, unless of course you're mentally incompetent
7 and someone else has to make the decision for you.

8 Q You certainly agree, Doctor, that there's a
9 difference between discussing an option of surgery versus
10 the physician saying, "You need this surgery, you should
11 have this done"?

12 MR. GREER: I'm going to object.

13 It's been asked and answered.

14 A His opinion is that the individual would be better
15 off with the operation and they have a better chance of
16 success than just a 50/50 situation.

17 Q But you agree that in April of '91 he discussed, Dr.
18 Wilbur discussed that with a patient and with Amy Hokaj as
19 an option, correct?

20 A I wasn't there. All I can say is that -- the word he
21 used was option.

22 Q But in August of '91 the words in the report are
23 should have, correct?

24 A Again, he said I discussed with her the surgical
25 options and at this point I feel if she has anything done,

1 she should have both a proximal and distal realignment,
2 which is a little bit different than your emphasis on
3 should. But he's saying as an issue for the operation that
4 both what he did was proximal and distal and that means,
5 again to illustrate, doing some surgery up above, which he
6 did, then there was cutting that tendon and giving a
7 release, advancing the muscle a little bit farther down
8 there, and then distal being changing the point of
9 attachment.

10 Q Now, counsel showed you Dr. Wilbur's letter in
11 January of 19923

12 A Yes, sir.

13 Q And he asked you, did Dr. Wilbur in that letter
14 indicate anything about the knee or anything about the left
15 knee3

16 A No, he didn't.

17 Q But you received -- of course at that point in time
18 she was still undergoing physical therapy for the knee
19 operation, correct, Doctor, in January of 1992, January
20 31st of 19923

21 A This was two weeks after he operated the knee he
22 wrote --

23 Q That's a simple question, Doctor.

24 A Well, I'm bringing it in time, in focus, that he
25 wrote a letter and never mentioned the knee whatsoever. He

1 only described an ankle injury.

2 Q But you're aware, Doctor, that in June of '95 after
3 --

4 A A couple of years later he wrote another letter, yes.

5 Q And in that he details in there regarding her knee,
6 correct, Doctor?

7 A He says that he operated her knee, yes.

8 Q And does he also indicate in that letter, Doctor,
9 that he relates the fall in June of '91 to her surgery?

10 A I don't know. My understanding is that he testified
11 -- and I don't know if he testified under oath that he was
12 relating that or not. There's a lot of difference between
13 a letter and being on a witness stand.

14 Q I have a copy, Doctor.

15 A June 5, 1995.

16 Q Yes, we have that.

17 And in that letter you're aware that her treating
18 physician, Dr. Wilbur, relates the fall in June of '91 to
19 her having surgery in January of 1992?

20 A I don't say relates. The word he uses, after this
21 fall her knee problems seem to have gotten worse. That's
22 the word he used.

23 Q And later on in the -- on the bottom of page two does
24 he indicate -- well, are you aware, Doctor, that Dr.
25 Wilbur has testified on deposition?

- 1 A I understand, but I don't know what he testified to.
- 2 Q If he relates the fall in June, '91 to the surgery in
- 3 January of '92 as her treating doctor, you're saying,
- 4 Doctor, that you have a difference of opinion on that?
- 5 A Absolutely.
- 6 Q Now, Doctor, you're aware that Amy is a social worker
- 7 at Fairview Hospital?
- 8 A Yes, I am.
- 9 Q And, Doctor, from your experiences would a social
- 10 worker have to stand virtually six to eight hours a day?
- 11 A Not always. Most of the time I see them sitting
- 12 around talking to people, but not necessarily standing.
- 13 Q And --
- 14 A They conduct so many -- much of their work over
- 15 telephones. When they interview patients, they usually sit
- 16 down. They don't do it all standing. But it doesn't
- 17 matter. I don't think the job had anything to do with her
- 18 problems.
- 19 Q But now you mentioned that Amy has a dull, aching
- 20 pain at the present time?
- 21 A She said that and I agree that she ~~has~~ has a dull, aching
- 22 pain at this time in her knee.
- 23 Q Do you agree, Doctor, ~~that is permanent~~ in nature?
- 24 A Absolutely. I don't think that knee operation
- 25 corrected her to that point where she'll ever be totally

1 painless. I don't see how it's possible.

2 Q So you would agree with Dr. Wilbur that it's a
3 permanent pain she'll have in her left knee?

4 A I'm sure she'll have some chronic pain in that knee.
5 She had plenty of problems in that knee.

6 Q What about the screw that remains in her knee at the
7 present time, Doctor?

8 A I don't think it will cause any problem. It seems to
9 be well-seated and the screw itself is not going to be a
10 problem. The reason for removal of the other screw is that
11 it was irritating it, it wasn't seated to the point where
12 it was totally buried and so she had problems and that's
13 the reason for removing it.

14 Q And are those screws that are left in the knee, this
15 particular one, is that intended to last forever or is
16 there an expectancy of about ten years?

17 A Oh, I think there's an expectancy of about 25 to 100
18 years. Actually, it's an irrelevant question. The screw
19 is not doing anything, it's buried, and we've seen screws
20 that have been placed in people ever since we've had
21 stainless screws available for implant that caused
22 absolutely no problems and I don't anticipate any problems
23 from this.

24 Q But you have had patients who have had a screw in
25 their knee and later they've had to go in and remove that

1 or replace it?

2 A First of all, let's say where the screw is. The
3 screw is not in the knee, the screw is in the tibia bone
4 down here, the knee joint is up in here. The screw is down
5 here. That's not going to bother anything (indicating).

6 Q Have you had experiences, Doctor, where you've had to
7 go in and remove a screw that was placed in in a prior
8 surgery?

9 A Oh, sure. We all have.

10 Q So you've done that a number of times?

11 A Yes, where it was so superficial that it caused an
12 irritant to the overlying tissues, yeah. We've all had to
13 do that.

14 Q So that's a situation that Amy may have to face down
15 the road?

16 MR. GREER: Objection.

17 A I don't think she will, but that's a very faint
18 possibility. And I mean the difference between the
19 probability and the possibility. This is possible, but
20 highly improbable.

21 MR. KOREY: Off the record for a
22 second.

23 THE VIDEOGRAPHER: We're off the
24 record.

25 (Recess had.)

1 THE VIDEOGRAPHER: We're on the
2 record.

3 Q Doctor, you mentioned that questions were asked of
4 you as to what the condition of Amy Hokaj's knee was at the
5 time prior to the fall and just after the fall. Now,
6 Doctor, you did not see Amy Hokaj until September of 1995,
7 correct?

8 A Yes, sir.

9 Q So that's more than three and-a-half years after the
10 surgery?

11 A Yes, sir.

12 Q So your knowledge is not on a physician/patient basis
13 but it's a review of notes, correct?

14 A It's a review of doctor's records and notes, yes.

15 Q And it's fair to say, Doctor, you're at a
16 disadvantage insofar as the treating physician was seeing
17 her on a regular basis?

18 A I don't think I'm at any disadvantage. I'm relying
19 on the same notes that he had and I'm sure his notes were
20 accurate.

21 Q Now, as far as the -- seeing her three and-a-half
22 years later for 20 minutes, when you did examine her, you
23 did make some physical findings, did you not, with respect
24 to her condition?

25 A Yes.

1 Q And when she was doing a range of motion, when she
2 was sitting down, I think you indicate that there was a
3 cracking sound in her left knee?

4 A No. It's what they call crepitation.

5 Q Isn't that a cracking sound?

6 A No.

7 First of all, it's not a sound at all. It's if I
8 place my hand -- in this instance we're talking about a
9 knee joint -- place it on the knee joint and the knee is
10 bent up and down and normally you're not going to feel
11 anything, sometimes you will feel like a crunching or a
12 grinding sensation that something may be a little bit rough
13 in that area that's transmitted to your hand. So
14 crepitation, this is what we mean by that. Sometimes, of
15 course, you can hear it, but in this case you didn't hear
16 it, you just felt it.

17 Q When she was walking here you heard the left knee
18 crack?

19 A No, sir.

20 Q Well, when she was walking what did you hear?

21 A Nothing.

22 Q Perhaps I misread that.

23 She indicated the wood of the screws in the knee was
24 causing her pain though?

25 A No. It had. The remaining screw was not indicated

1 to be causing her any discomfort.

2 Q And she was tender over the kneecap, correct?

3 A Yes, but not over the entire kneecap. It's when I
4 pushed that kneecap against the groove on the knee, she had
5 some tenderness, yeah. The main point of tenderness was
6 where the quadriceps muscle inserts into the upper inner
7 part of the kneecap and that's the area where she had some
8 surgery and some sutures in there. And she may very well
9 be tender. I'm sure she is.

10 Q There was a long scar that you described to us that
11 was down the kneecap, approximately six inches in length?

12 A About.

13 Q And that was from the surgery in January of 1992?

14 A Yes, sir.

15 Q Obviously the scar, Doctor, that would be permanent
16 in nature?

17 A Oh, sure.

18 Q You also mentioned, Doctor, there was calcification
19 in the patella tendon?

20 A Yes.

21 Q And is calcification due to a healing process of the
22 body?

23 A Probably what happened was when they did the
24 realignment and they cut the bone down below and twisted -
25 it, you had some bleeding in that area and that may have

1 caused the calcium to build up within that tendon, but as I
2 mentioned, this is not giving her any problems, she's not
3 kneeling on that area or anything, so that's just something
4 that goes along with the operation. I don't think that's
5 got any real significance. Certainly you'd never operate
6 anybody for that.

7 MR. KOREY: Off the record for a
8 second.

9 THE VIDEOGRAPHER: We're Off the
10 record.

11 (Discussion had off the record.)

12 THE VIDEOGRAPHER: We're on the
13 record.

14 Q Dr. Wilbur ~~has~~ testified that her problems after the
15 fall with her knee were different than before the fall. So
16 just so we're clear, Doctor, you disagree with Dr. Wilbur's
17 opinion on that?

18 A I do.

19 Q And, Doctor, can I ask you when your next independent
20 medical exam is scheduled for?

21 A I have office hours today so I'm sure I have one
22 today.

23 Q Would that be also referred by a defense lawyer's
24 firm or insurance company?

25 A Oh, I'm sure it probably is.

1 MR. KOREY: I have nothing further at
2 this time. Thank you, Doctor.

3 MR. RASMUSSEN: Off the record,
4 please.

5 THE VIDEOGRAPHER: We're off the
6 record.

7 (Recess had.)

8 THE VIDEOGRAPHER: We're on the
9 record.

10 - - - - -

11 DIRECT EXAMINATION

12 - - - - -

13 BY MR. RASMUSSEN:

14 Q Good morning, Doctor, ~~my~~ name is John Rasmussen and
15 I'm here representing the City of Rocky River and the
16 Osterland Company and I have a few follow-up questions for
17 you.

18 Doctor, you've described that ~~sma~~ 11 part of your
19 practice that involves the independent medical examinations
20 in connection with litigation, but I want the jury to have
21 a fair understanding of your practice.

22 A Yes.

23 Q What is the large percentage of your practice devoted
24 to, Doctor?

25 A Primarily people having injuries, arthritic changes

1 in the joints, fractures, dislocations.

2 Q Do you care for people who are injured in accidents,
3 Doctor?

4 A Yes.

5 Q And from time to time are you called upon by lawyers
6 who represent injured people to produce reports?

7 A Oh, absolutely.

8 Q And from time to time are you called upon to testify
9 on behalf of people who are injured in accidents and whom
10 you've treated?

11 A Occasionally, yeah, I have to testify for them. I'm
12 their doctor and I testify as to what I did, sure.

13 Q Now, as far as your examination of Amy Hokaj is
14 concerned and the opinions that you've rendered here this
15 morning, first of all, the physical therapy records that
16 Mr. Korey provided to you just a few minutes ago that you
17 reviewed, does that affect any of the opinions that you've
18 rendered so far this morning?

19 A No, they do not.

20 Q As far as the Fairview Hospital emergency room
21 records are concerned where Ms. Hokaj went following the
22 accident, we understand that the sprained ankle was
23 diagnosed and treated there and there's also mention of a
24 soft tissue injury to her knee?

25 A Yes, sir.

1 Q And as I understand it, the only record of a problem
2 with her knee is an abrasion; is that accurate, Doctor?

3 A Yes, sir.

4 Q Would an abrasion of the knee be considered a soft
5 tissue injury, Doctor?

6 A Yes.

7 Q Now, you've also testified in great depth concerning
8 your review of Dr. Wilbur's office notes and the
9 information that's contained therein. If you'd turn to his
10 note dated April 30th, 1991, which would have been the last
11 visit before the accident, do you see on the first line his
12 description that Amy is seen in follow-up for her chronic
13 chondromalacia patella?

14 A Yes, sir.

15 Q First of all, Doctor, is there a generally-accepted
16 meaning in the medical community for the term chronic?

17 A Chronic means of longstanding and usually anything
18 after three months' duration we also kind of say either
19 subacute, and then a little bit longer than that then it
20 becomes a chronic thing that's been present for a long
21 period of time.

22 Q As I understand your testimony, Doctor, you've
23 described the chondromalacia and what that condition is and
24 also that Ms. Hokaj had lateral tracking of the patella on
25 her left knee and that's the equivalent of partial

1 dislocation; am I accurate?

2 A Yes. It's -- you may call it subluxing, but lateral
3 displaced tracking or lateral tracking is going off to the
4 side, not where it should be tracking.

5 Q Again, as I understand your testimony, Doctor, the
6 surgery that was performed by Dr. Wilbur in January of 1992
7 was solely to correct that lateral tracking condition in
8 Ms. Hoka's left knee?

9 A Yes, because nothing other than that was done. Of
10 the various things that were done, all of it was directed
11 to correct the tracking. The kneecap itself was not
12 touched. In fact, he makes absolutely no description of
13 the description of the undersurface of the kneecap in his
14 operative report.

15 Q Again, Doctor, so would it be fair to say that that
16 surgery in January of 1992 was solely to correct the
17 condition that pre-existed the accident in June of 1991?

18 A Yes.

19 Q And nothing about that surgery in January of 1992 had
20 anything to do with the injuries that Amy sustained in the
21 fall of June of 1991?

22 MR. KOREY: Objection.

23 A That's my opinion, sir.

24 Q Finally, Doctor, in your opinion, within a reasonable
25 degree of medical surgery, are any of Amy Hoka's medical

1 bills which pertain to treatment **or** surgery of her left
2 knee related to the accident of June 21st, 1991?

3 **A** My opinion is that they would not be related to the
4 accident of that date.

5 **MR. RASMUSSEN:** Thank you very much,
6 Doctor. That's all I have.

7 **THE VIDEOGRAPHER:** We're off the
8 record.

9 (Recess had.)

10 **THE VIDEOGRAPHER:** We're on the
11 record.

12 - - - - -

13 **REDIRECT EXAMINATION**

14 - - - - -

15 **BY MR. GREER:**

16 **Q** Doctor, the last question that **Mr.** Rasmussen asked he
17 asked you to a reasonable degree of medical surgery and it
18 should have been reasonable degree of medical certainty.
19 **Is** your answer the same, Doctor?

20 **A** The answer **is** the **same**.

21 **Q** Doctor, I'll be very brief.

22 You had a chance to review the physical therapy
23 records from Fairview -- from **MetroHealth Medical Center**
24 for the Plaintiff's **ankle** injury, correct?

25 **A** Yes.

1 Q You referenced a paragraph on the first visit and you
2 were asked some questions about that paragraph where it
3 indicated that she was previously very active but had been
4 unable to continue sports activities other than controlled
5 bicycling, and that she had given the physical therapy a
6 history of knee problems including several arthroscopes and
7 the lateral release. What is your understanding of what
8 that means, Doctor?

9 A It means that she had ongoing problems before this
10 ankle sprain.

11 Q And those ongoing problems prevented her from
12 performing certain physical activities?

13 A Yes, sir.

14 MR. KOREY: Objection.

15 Q Does the reference in there about her previously
16 being very active and now being unable to continue sports
17 activities have anything at all to do with the ankle
18 injury?

19 A No.

20 Q Now, Doctor, on the other page where you reference
21 that the only note you saw of any pain that she experienced
22 in the left knee was while she was doing jump roping; is
23 that correct, Doctor?

24 A Yes.

25 Q Would that be an activity that if you had a knee

1 problem could create knee pain such as that?

2 A If you had a knee problem and tried to jump rope,
3 it's going to hurt.

4 Q Doctor, in looking at the records of Dr. Wilbur on
5 July 10th of 1990, is it fair to state that the Plaintiff
6 was having more pain after she was finished riding her
7 bike?

8 A Yes, sir.

9 Q And on February 12th of 1991 is it fair to state that
10 Dr. Wilbur indicated in his notes that activity definitely
11 made the Plaintiff's knee problem worse?

12 A That's his notation here, activity definitely makes
13 her knee problem worse.

14 Q Are those consistent with the physical therapy notes
15 that we've just discussed?

16 A Yes.

17 Q Doctor, in light of the questioning by Plaintiff's
18 counsel have any of your opinions changed?

19 A No.

20 Q And, Doctor, to finalize, your opinion to a
21 reasonable degree of medical certainty is what in terms of
22 what injuries the Plaintiff sustained in the June 21st,
23 1991 fall?

24 MR. KOREY: Objection.

25 A She sprained her ankle and she had a contusion

1 abrasion of her knee.

2 Q And your opinion to a reasonable degree of medical
3 certainty concerning the Plaintiff's knee problems, the
4 surgery in January and July of 1991 and any medical bills
5 related to that is what, Doctor?

6 A Well, those are related to her prior knee problems,
7 not to this accident.

8 MR. GREER: Thank you, Doctor.

9 THE VIDEOGRAPHER: We're off the
10 record.

11 (Recess had.)

12 THE VIDEOGRAPHER: We're on the
13 record.

14 - - - - -

15 RECROSS-EXAMINATION

16 - - - - -

17 BY MR. KOREY:

18 Q Doctor, you testified regarding your medical
19 practice. There were some questions by Mr. Rasmussen who
20 represents the City of Rocky River and Osterland Company.

21 Now, Doctor, we went through that of the independent
22 medical exams, when you conduct an independent medical
23 exam, that is done on behalf of a law firm or an insurance
24 company, correct?

25 A Usually, some of them.

1 Q Isn't the vast majority of the independent medical
2 exams always requested by a defense lawyer's firm or an
3 insurance company?

4 A Almost always because if someone already has a
5 physician, they have retained an attorney, there's no
6 reason to get another physician involved unless they feel
7 that another opinion is more valid.

8 Q And your income annually based on these so-called
9 independent medical examinations, including deposition
10 testimony, is approximately \$100,000 a year?

11 A No. Close to that,

12 Q Very close to that?

13 A Well, it ~~may~~ be, sure. Probably about -- I don't
14 know the exact, but it may very well be.

15 Q And you mentioned that they're a problem with
16 physicians now because of the HMOs?

17 A Yes. I just mentioned that in general. It's
18 well-known, it's documented in every newspaper and business
19 report and medical reports that you look at, certainly.

20 Q Now, Doctor, as far as the condition of Amy Hokaj's
21 knee before the fall, you are relying on the notes of Dr.
22 Wilbur, correct?

23 A Oh, certainly, because I hadn't seen her until that
24 day.

25 Q Yes.

1 And when Dr. Wilbur says after the fall her condition
2 was different --

3 A He didn't say that in his notes.

4 Q Well, you recall, Doctor, that he testified that the
5 situation with Amy's knee was worsened by that fall?

6 A I don't know if he did.

7 Q Assuming, Doctor, that that's what Dr. Wilbur
8 testified, you're saying you disagree with that opinion?

9 A If he testified to that, I definitely disagree, sir.

10 Q So you base your opinions on his -- on the notes that
11 he has, correct?

12 A Yes, because those notes were validly written while
13 he's actively treating someone and I have to go along with
14 what he puts down. If he states something different, why
15 was that not in his notation before? It's not there.

16 Q You agree, Doctor, that the -- you agree with his
17 notes but you disagree with his conclusion; is that a fair
18 statement?

19 A If that was his conclusion that it was made
20 worse by this, yes, then I do disagree.

21 MR. KOREY: I have nothing further,
22 Doctor. I'm sorry we had to take so long.

23 MR. GREER: Thank you, Doctor.

24 THE VIDEOGRAPHER: Doctor, you have a"
25 right to review this videotape to review its

accuracy and will you waive that right?

2 THE WITNESS: I waive.

3 - - - - -

4 (Deposition concluded.)

5 - - - - -

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 The State of Ohio,)
) SS: CERTIFICATE
 2 County of Cuyahoga.)

3 I, Renee L. Pellegrino, a Notary Public within and
 4 for the State of Ohio, duly commissioned and qualified, do
 5 hereby certify that the within-named RALPH KOVACH,
 6 M.D. was by me first duly sworn to testify the truth, the
 7 whole truth, and nothing but the truth in the cause
 8 aforesaid; that the testimony then given by him/her was by
 9 me reduced to stenotypy in the presence of said witness,
 10 afterwards transcribed upon a computer, and that the
 11 foregoing is a true and correct transcript of the testimony
 12 so given by him/her as aforesaid.

13 I do further certify that this deposition was taken at
 14 the time and place in the foregoing caption specified and
 15 was completed without adjournment.

16 I do further certify that I am not a relative,
 17 counsel or attorney of either party or otherwise interested
 18 in the event of this action.


19 IN WITNESS WHEREOF, I have hereunto set my hand and
 20 affixed my seal of office at Cleveland, Ohio on this 8th
 21 day of December, 1995.

22

23

24

25


 Renee L. Pellegrino, Notary
 Public in and for the State of
 Ohio.
 My Commission expires 3-20-00.