

IN THE COURT OF COMMON PLEAS

Doc. 256

CUYAHOGA COUNTY, OHIO

HOWARD L. AXELROD, et al.,

Plaintiffs,

-vs-

JUDGE KZLCOYNE
CASE NO. 220922MARK KRIWINSKY, D.D.S.,
Defendant,
- - - -

Deposition of CLARENCE KREBS, D.D.S., taken as
if upon cross-examination before Lynn A.
Knitsky, a Registered Professional Reporter and
Notary Public within and for the State of Ohio,
at the offices of Gallagher, Sharp, Fultin &
Norman, Seventh Floor Bulkley Building,
Cleveland, Ohio, at 2:50 p.m. On Tuesday,
February 9, 1993, pursuant to notice and/or
stipulations of counsel, on behalf of the
Plaintiffs in this cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

1 APPEARANCES:

2 Carla M. Tricarichi, Esq.
3 Arthur Clements, Esq.
4 Tricarichi & Carnes
5 1020 Rockefeller Building
6 Cleveland, Ohio 44113
7 (216) 861-6677,

8 On behalf of the Plaintiffs;

9 Mark B. Smith, Esq.
10 Gallagher, Sharp, Fulton & Norman
11 Seventh Floor Bulkley Building
12 Cleveland, Ohio 44115
13 (216) 241-5310,

14 On behalf of the Defendant.
15
16
17
18
19
20
21
22
23
24
25

1 CLARENCE KREBS, D.D.S., of lawful age,
2 called by the Plaintiffs for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF CLARENCE KREBS, D.D.S.

8 BY- MS. TRICARICHI:

9 Q. Dr. Krebs, my name is Carla Tricarichi and I
10 along with Tim Clements represent the plaintiff
11 Howard Axelrod in this matter. I'm going to
12 take your deposition.

13 I presume you're familiar with how a
14 deposition goes and what the format is; is that
15 a fair statement?

16 A. Yes.

17 Q. So I don't really need to go into much detail.

18 If you don't understand any of my questions
19 I'll be happy to rephrase them. Otherwise I'll
20 have understood you to have understood them and
21 you will have answered them.

22 Doctor, can you state your name for the
23 record?

24 A. Yes. My name is Clarence, middle name is
25 George, Krebs, K-r-e-b-S, Jr.

1 Q. And can you tell us, first, your residence
2 address?

3 A. My residence address is 30611 Timber Lane, Bay
4 Village 44140.

5 Q. Can you give us your professional address?

6 A. My office address is 22255 Center Ridge Road,
7 Rocky River, Ohio. The practice I'm associated
8 with also has an office in Sanduslcy, Ohio, 1322
9 Milan Road, M-i-l-a-n, Sandusky, Ohio.

10 Q. That's a pop quiz.

11 A. Yes. And I am currently on leave of absence
12 from that office, so my current professional
13 address is Case Western Reserve University
14 School of Dentistry.

15 Q. You are on leave for your practice entirely in
16 either location?

17 A. Correct.

18 Q. Can you tell me what your occupation is?

19 A. My occupation has been as an endodontist,

20 Q. Until?

21 A. Until officially June, end of June of this year.

22 Q. Of '92?

23 A. Yes.

24 Q. Prior to that you have practiced with the group
25 that is on your letterhead?

1 A. That's correct.

2 Q. Endodontic Associates?

3 A. Correct.

4 Q. How long had you practiced with that group?

5 A. 21 years.

6 Q. Had you practiced with anyone else prior to
7 that?

8 A. Yes. I had practiced with Dr. Robert Fox in
9 Chicago, for a year-and-a-half.

10 Previously by myself,

11 Q. When you practiced with Dr. Fox were you also
12 practicing as an endodontist with Dr. Fox?

13 A. Yes.

14 Q. What's your current position at Case Western
15 Reserve?

16 A. My current position is acting director of
17 endodontics at Case Western Reserve.

18 Q. Tell me what that entails.

19

20

21

22 Q

23 A Those are individuals who have graduated from
24 dental school, have a DDS degree, are going on
25 for additional training that are qualified under

1 the American Dental Association guidelines.

2 Q. Sort of akinship to a fellowship in the medical
3 field?

4 A. I'm not sure if that's a good analogy. The
5 American Dental Association has set up rules for
6 graduate training and people must meet these
7 educational requirements to declare themselves a
8 specialist.

9 Q. After you finish your four years of dental
10 school, you graduate with a DDS; is that right?

11 A. From Case Western Reserve, yes.

12 Q. I'm talking about the institution, let's refer
13 to the institution where you are.

14 Then there's a subsequent training
15 available in endodontics?

16 A. Yes.

17 Q. Can you tell me how long that training is?

18 A. It's a two-year program.

19 Q. When you graduate from that training, what type
20 of degree do you get or receive?

21 A. They receive a master of science degree and a
22 certificate in endodontics.

23 Q. Then is there any kind of certification or
24 national test that these grads take?

25 A. There's no required national testing.

1 Q. There's none whatsoever, it just doesn't exist?

2 A. They are not required. in any way to take any
3 additional tests after that point in time. There
4 may be state requirements for specialties and so
5 forth.

6 Q. Are there any that exist?

7 A. There are tests that exist, both in terms of
8 state government, some states have specialty
9 board examinations.

10 Q. Is there a special board examination in
11 endodontics?

12 A. Not in Ohio. There is, the American Association
13 of Endodontists does have a board called a
14 national board which also, as a volunteer, may
15 be taken.

16 Q. Okay. Are you board certified by that board?

17 A. I am not.

18 Q. Can you give me your educational background,
19 doctor?

20 A. Starting with college I would assume?

21 Q. College is fine.

22 A. I attended Western Michigan University for two
23 years. 'Then I attended Case Western Reserve
24 University. I have a bachelor's degree from
25 Case Western Reserve University and doctor of

1 dental surgery from Case Western Reserve
2 University.

3 The DDS was 1967.

4 The additional education I've had, I had
5 graduate training in endodontics at the
6 University of Illinois which was from 1969,
7 1971, two academic years

8 Q. In those two years were you in a hospital
9 setting, doctor, primarily?

10 A. No. It's primarily in the university setting.
11 It was a hospital component, but it was
12 primarily in the university.

13 Q. Is that where you developed your specialization
14 in endodontics?

15 A. Yes.

16 Q. I'm sorry, that was what university?

17 A. University of Illinois.

18 Q. Did you graduate then after that course of, that
19 two-year course of study with --

20 A. Yes, With a certificate in endodontics.

21 Q. Okay. Thereafter, where did you go after 1971?

22 A. After 1971 then I began the, what developed into
23 this group of Endodontic Associates. I started
24 out by myself and subsequently took other
25 individuals into the practice.

1 Q. Your work with Dr. Fox then was during the '69,
2 '71 period?

3
4 You were not in private practice, you were a
5 fellow or graduate student of some kind?

6 A. Yes.

7 Q. Is your leave indefinite with your practice?

8 A. Yes, at this point.

9 Q. So this is sort of: a new profession for you now?

10 A. Yes, new in terms of doing it as a full time
11 thing, yes --

12 MR. SMITH: Go ahead.

13 A. -- exclusive thing. I have taught at other
14 times.

15 Q. Can you tell me about your teaching background?

16 A. My teaching background was in 1971 and 1972,
17 academic year. I was a clinical instructor at
18 Case Western Reserve on a two day a week basis
19 in conjunction with starting my practice.

20 Subsequent to that, I have, over the years,
21 given a number of lectures at Case Western
22 Reserve, but only on an informal, or occasional
23 basis.

24 Since July 1, I have been there exclusively
25 directing the graduate program, July 1, 1992.

1 Q. So before 1992 you were working to be a lecturer
2 of some type?

3 A. Yes.

4 Q. Did you have an academic appointment?

5 A. No academic appointment,

6 Q. Are you presently on the staff of any hospitals?

7 A. No.

8 Q. Can you tell me what professional societies you
9 are a member of?

10 A. I'm a member of the American Dental Association.

11 The Ohio Dental Association. Greater Cleveland
12 Dental Society. American Association of
13 Endodontists, Ohio Association of Endodontists.

14 A number of other study clubs, local study
15 clubs, et cetera, but those are the main, large
16 groups.

17 Q. When you say local study clubs you don't have to
18 detail them, but are they clubs in which you do
19 any kind of research in endodontics?

20 A. No. They are not specifically endodontic
21 groups.

22 Q. Can you tell me what they are?

23 A. They are groups that generally will have mixed
24 group dentists with various specialties that
25 will have a monthly meeting with a speaker. Most

1 of them do qualify for the Ohio State --

2 Q. Continuing?

3 A. Continuing education type things, yes, exactly.

4 Q. I understand. What professional publications do
5 you subscribe to?

6 A. Journal of the American Dental Association and
7 Journal of endodontics, Plus Oral Surgery. Oral
8 Medicine, Oral Pathology.

9 Q. Are you published, doctor?

10 A. No.

11 Q. Now, other than the documents that you have
12 shown to me, that is approximately three
13 depositions; Dr. Kriwinsky, Dr. Krell,
14 Mr. Axelrod, the original chart and your report,
15 your retention letter from Mr. Smith, the
16 continuance letter, the complaint, plaintiff's
17 answers to interrogatories, production of
18 documents, Kaiser Hospital records, St. Luke's
19 Hospital records, Kaiser Hospital records,
20 Drs. Berk and Grady, Smilovits, Flores. A
21 Letter from Dr. Kriwinsky, a letter from
22 Dr. Krell; is there anything else that you have
23 reviewed in your preparation of this case?

24 A. I've reviewed some various textbooks
25 specifically with regard to this case.

1 Q. Can you tell me the names of those textbooks?

2 A. I've reviewed two endodontic textbooks,
3 Principle and Practice of Endodontics.

4 Q. Does it have an editor?

5 A. Dr. Walton and Torabinejad. If you ask me to
6 spell it I'm going to look up on Mark's wall up
7 there because he's got it.

8 MR. SMITH: The green one?

9 A. Next to the one that says Orthodontics, if she
10 needs a correct spelling.

11 Q. It's worse than my name.

12 A. I also have reviewed some oral surgery texts.

13 Q. Can you give me the names of those texts?

14 A. One is Oral and Maxillofacial Surgery, Archer is
15 the author. And I'm uncertain of the other
16 names to give you an exact quote.

17 Q. You can't tell me what the other books are?

18 A. I can't give you an exact quote of the title.

19 Q. If you reviewed your records at your office,
20 would you be able to tell me what those other
21 quotes are?

22 A. Absolutely.

23 Q. Could you give that information to Mr. Smith so
24 that he can provide it to me?

25 A. Yes.

1 Q. Doctor, do you consider the text that you've
2 mentioned, along with whatever other texts you
3 reviewed in your office, which you can't recall
4 right now, to be authoritative in the area of
5 endodontia; is that the correct term?

6 A. Yes, endodontics, Certainly the endodontics
7 texts are very representative. There are
8 numerous textbooks available. This is a highly
9 respected textbook and it happens to be the one
10 that you have in your hand is the basic --

11 Q. Principles and Practice --

12 A. -- text that I use in the graduate program,
13 That's not to say that any single textbook
14 isn't an authority. The other textbooks are
15 really respected, well known texts in oral
16 surgery that are or have been used in oral
17 surgery programs as reference texts.

18 Q. Can you tell me specifically in what capacity
19 you used, for example, this Principle and
20 Practice of Endodontics in your evaluation of
21 this case?

22 A. Yes, I used it just to have a couple of
23 references regarding the fact, for instance,
24 that this type of an occurrence does occur.

25 Q. When you say this type of occurrence, what type

1 of occurrence are you talking about?

A. The type of occurrence we are discussing in this
3 case, swelling and discomfort after endodontic
4 treatment.

5 Q. Are you referring specifically to Ludwig's
6 angina or the symptoms of swelling?

7 A. No. I'm referring to the fact that post-root
8 canal treatment occasionally there is swelling
9 and discomfort.

10 MR. SMITH: I want the record to
11 reflect, despite the fact she's a Democrat,
12 I'm letting Carla use my book, that I paid
13 for.

14 MS. TRICARICHI: That you paid for
15 did you say?

16 MR. SMITH: Yes.

17 MS. TRICARICHI: I thank you,
18 Mark, that's very generous of you.

19 MR. SMITH: Your welcome, It's my
20 new spirit of cooperation.

21 MS. TRICARICHI: That's very good.

22 MR. SMITH: Coming down from the
23 mountain into the valley to help.

24 MS. TRICARICHI: In the valley,
25 that's where I am, to help?

1 Q. Okay. Doctor, I think you used the word, did
2 you say which occasionally occurs?

3 A. Yes.

4 Q. The swelling?

5 A. Yes. I would accept the word occasionally.

6 Q. Is that based on your clinical practice that you
7 say that?

8 A. That's based on clinical observation and
9 textbooks.

10 Q. Before I get into your practice, let me ask you
11 a few more --

12 A. Certainly.

13 Q. -- background questions,

14 Other than these texts, there's nothing
15 else you reviewed, any other publications that
16 you reviewed?

17 A. Not specifically for this case, no.

18 Q. You just have a normal reading --

19 A. yes

20 Q. -- of these --

21 A. Yes.

22 Q. -- publications?

23 A. There are a great number of publications and
24 articles which may have some bearing, but were
25 not reviewed specifically for this case.

1 Any particular articles you are referring to
2 that have a bearing?
3 A. No not that I can think of right offhand.
4 Q. If any of them come to mind please provide Mr.
5 Smith with them,
6 A. Yes.
7 Q. Have you only prepared one report in this case?
8 A. YE::, that is correct.
9 Q. And that is the report of August the 14th, 1992?
10 A. Yes.
11 Q. Can you tell me, doctor, have you ever served as
12 an expert in other cases before?
13 A. Yes, I have.
14 Q. Can you give me an idea of how many cases in
15 which you have served?
16 A. I would say five or six. I'm not certain of the
17 exact number, but approximately that.
18 Q. Have you worked with Mr. Smith and his firm
19 before?
20 A. I have worked with one member of his firm
21 before, yes.
22 Q. Who would that be?
23 A. Mr. Auciello.
24 Q. Another Italian, Ernie Auciello.
25 Was that a dental malpractice case as well?

1 A. Yes.

2 Q. Can you tell me something about the facts of
3 that case?

4 A. Well, let me think about that one.

5 Gosh, I'm hard pressed to give you details,
6 to be very honest with you, it was about three
7 years ago.

8 Q. Do you remember the name of the case?

9 A. No, I do not.

10 Q. Did you give a deposition in that case?

11 A. Yes.

12 Q. You don't remember the name of the defendant or
13 the plaintiff?

14 A. No, I don't.

15 Q. Okay.

16 A. He may be able to find that out for you, Mr.

17 Smith may be able to find that out for you.

18 Q. You testified on behalf of the defendant in that
19 case?

20 A. Yes.

21 Q. Did you also have testimony in court?

22 A. Yes.

23 Q. Would your records, whatever records you keep,
24 indicate the caption of that case?

25 A. I'm uncertain if I have that.

1 Q. Well, again, I would ask you to search your
2 records and see if you could find that for me.
3 Was it in this county?

4 A. No, it was not.

5 Q. What county was it?

6 A. Geauga County.

7 Q. You said there were approximately five or six,
8 can you tell me about some of the other cases?

9 A. One of the cases was a case in which I was
10 retained as an expert for the plaintiff to give
11 an opinion. Again, I cannot give you that name,

12 Q. Do you remember the name of the lawyer?

13 A. Yes, George which I wrote an opinion for him and
14 as far as I know, it did not progress to --

15 Q. \in didn't testify in that case?

16 A. T did not give a deposition, I merely wrote an
17 opinion as an expert.

18 I also represented a plaintiff in an
19 additional case in which Mr. Smith was sitting
20 on the other side of the table. And I don't
21 know that case either, but he may be able to
22 help us.

23 Q. Who was the plaintiff's counsel in Lhat?

24 MR. SMITH: Dominic Finucchi.

25 A. Dominic Finucchi, you are right.

1 I'm currently involved in a case, I've been
2 retained by Sam Butcher, and that is
3 representing a plaintiff in a case.

4 Q. Sam Butcher is the lawyer?

5 A. Yes.

6 THE WITNESS: With Stewart and
7 DeChant; is that right?

8 MR. SMITH: Yes.

9 A. Down the street.

10 Q. Now, the case in which Mr. Finucchi was
11 involved, can you tell me anything about the
12 facts of that case?

13 A. The facts were really totally unrelated to this
14 case, it was involving a breakage of an
15 endodontic instrument in a tooth.

16 Q. Did you testify in court in that case?

17 A. No, I believe that was settled.

18 Q. Did you testify in deposition?

19 A. I did give a deposition in that case, yes.

20 Q. Excuse me, and the case with Stewart and
21 DeChant, have you testified at all in that case?

22 A. No, that's still in progress, but I have given a
23 deposition in that case.

24 Q. Can you tell me what the subject matter of that
25 case was?

1 A. The subject matter of that case is that I'm one
2 of a group of experts who are testifying and the
3 case involves a complete reconstruction of the
4 patient's mouth and I'm testifying regarding the
5 condition of the teeth at the time of
6 reconstruction.

7 Q. The defendant, is it a general dentist?

8 A. Yes.

9 Q. Any other cases in which you have served as an
10 expert?

11 A. Yes. I was involved in one other case and I was
12 involved as an expert. Well, I'm not sure that
13 I would say I was involved as an expert, that
14 may be inaccurate. I did testify in the case,
15 it was as a witness for the defense in a
16 malpractice suit, involving a patient that I had
17 treated, but the testimony was really peripheral
18 to the actual endodontic treatment.

19 Q. Is this a patient in which a general dentist was
20 the defendant and the general dentist had
21 referred the case to you?

22 A. Yes.

23 Q. Were you being sued or was the general dentist?

24 A. No, the general dentist was.

25 Q. So you served as, perhaps as a fact witness and

1 as an expert witness?

2 A. As a fact witness and certainly, I don't believe
3 that my testimony would be considered as an
4 expert witness. Again, that's a legal question
5 that I don't answer.

6 But that was a situation in which I did
7 testify during the trial.

8 Q. Okay.

9 A. That was in Common Pleas Court in Cuyahoga
10 County.

11 Q. In Cuyahoga County?

12 Can you tell me again, do you know the name
13 of the dentist?

14 A. The dentist was Nahigian. N-a-h -- oh, gosh.
15 I g-i-a-n, or something of that nature,
16 Nahigian. I don't remember the plaintiff's
17 name.

18 Q. Any other cases?

19' A. That's all that come to mind right at the
20 moment. That's five or six.

21 Q. Can you give me a little bit of background on
22 that case?

23 A. The background of that case was that the
24 plaintiff alleged some defective treatment
25 involving restoration subsequent: to some

1 treatment that I had done. I was not a party to
2 the suit.

3 Q. What type of restoration are you talking about?

4 A. As I remember, a full crown. This was a number
5 of years ago, six or eight years ago, so I'm a
6 little fuzzy and that's a pure guess, timewise.

7 Q. Any other cases that you can remember?

8 A. Not that I can think of right off the top of my
9 head.

10 Q. Doctor, did you ever practice as a general
11 dentist?

12 A. Yes.

13 Q. When was that?

14 A. From 1967 to 1969.

15 Q. Was that in Cleveland?

16 A. That was in Lorain County.

17 Q. Lorain County?

18 A. Yes.

19 Q. Do you do any advertising of the fact that you
20 are willing to review cases for litigation
21 purposes?

22 A. I have never done any advertising myself, with
23 one possibility, I have a friend who happens to
24 be a trial attorney and he -- I never ask him,
25 but he may have put my name out, but I have not

1 done any myself.

2 Q. Not yourself?

3 A. No,

4 Q. Have you ever been sued for malpractice?

5 A. No,

6 Q. Have you ever had your dental license revoked?

7 A. No.

8 Q. Or suspended or any kind of disciplinary action?

9 A. No, none at all.

10 Q. I have to ask you that question, it's a standard
11 question.

12 A. I'm not offended, that's fine,

13 Q. Doctor, prior to your work with Case Western
14 Reserve you worked as an endodontist for some
15 time; is that right?

16 A. Yea;.

17 Q. Can you describe for us what types of procedures
18 you performed in your practice?

19 A. We did everything that was within the scope of
20 endodontics, which goes from conservative root
21 canal treatment, surgical root canal treatment-s,
22 treatments of traumas, retreatments of other
23 work that's been done by dentists that had
24 failed for one reason or another.

25 Q. Maybe you should, first of all, give me a

1 definition of what an endodontist is.

2 A. The official definition of an endodontist is
3 that branch of dentistry that deals with the
4 anatomy, physiology and pathology of the dental
5 pulp and periapical tissues.

6 Q Now, you used the terminology conservative root:
7 canal, then there was another adjective.

8 A. Well, I probably should have used traditional as
9 opposed to conservative.

10 Q. There were two of them.

11 A. Nonsurgical and surgical, if *you* will.

12 Q. What did you say, conservative and what was the
13 other one you said?

14 A. Surgical.

15 Q. Explain the difference to me.

16 A. Regular or traditional root canal. treatment
17 involves doing root canal treatment by making an
18 opening through the tooth itself and addressing
19 the problem from within the tooth.

20 Surgical treatment involves addressing a
21 problem from the outside by making an incision
22 in soft tissues, in effect working from the
23 other end of the tooth.

24 Q. Externally?

25 A. Not external where you have your hand on your

1 face. but external in through the gum tissue
2 through the supporting structures as opposed to
3 through the tooth.

4 Q. Can you tell me when the surgical, a surgical.
5 root canal is indicated?

6 A. Well, it would be impossible probably to list
7 every single possibility, but it would include
8 such things as, when the root canal treatment
9 through the tooth was impossible or impractical;
10 such as if the root canal had become calcified
11 in its normal development process, it had gotten
12 so small it's technically impossible to reach
13 through the tooth.

14 It could also be practical in a situation
15 in which a large, significant restoration was on
16 the tooth that you would endanger that
17 restoration by working through it, and that the
18 alternative choice might be to work from the
19 outside.

20 There are other types of problems that
21 could develop which would preclude doing it
22 through the tooth, either physically -- most
23 cases are physically, you just can't reach it
24 through that way. And then in which case, doing
25 it surgically, by a direct approach, would allow

1 you to have a chance to resolve the problem.

2 Q. Would it be fair to say Mr. Axelrod had what you

3 called a nonsurgical --

4 A. Yes.

5 Q. - or conservative root canal?

6 A. Yes, yes.

7 Q. Now, as an endodontist, can you tell me

8 typically the source of your patients, where did

9 they come from when you were in private

10 practice?

11 A. When I was in private practice, a hundred

12 percent of our patients were by referral from

13 other dentists.

14 Q. Can you tell me what factors a general dentist

15 would consider when deciding to refer a patient

16 either to you or to an endodontist?

17 A. Well, I can only give you my opinion of what

18 that is -- not being in that position -- but

19 some of the factors that may be taken into

20 consideration would be their desire or lack of

21 desire to do root canal treatment. A large

22 number of referrals were from people that just

23 didn't want to be bothered. There may be

24 situations in which there are cases that would

25 be deemed by them, too difficult for their

ability.

Or there may be situations in which the patient requests being sent to a specialist, which happens in this day and age.

Those probably would cover the bulk of cases.

Q. Are general dentists qualified to perform all types of root canals?

A. They're legally allowed to provide anything, their state license does not limit the treatment that they can provide and it would depend upon their education.

Q. Well, in your opinion, are general dentists qualified to perform root canals?

A. Yes, as a general statement. I'm not sure that's a very clear statement for me to make. Numerically, general dentists probably do about 90 percent of the root canal procedures done in the country every year.

Q. Are there other factors, such as tooth location, that would go into a decision to refer?

A. Tooth location is generally not considered a factor in determining, but what it is, it would be more the individual case, the individual tooth, the individual patient.

1 Q. Well, can you tell me what about an individual
2 Cooth might cause a general dentist to refer the
3 case to you?

4 A. A case that I think should probably be referred
5 to a specialist would be a tooth in which there
6 was some alteration of normal anatomy, either
7 through disease process or through dental
8 treatment. Something that would make that a
9 particularly difficult case to treat.

10 Or maybe a case in which normal
11 developmental changes have occurred that would
12 lead the dentist to believe that it might be
13 beyond his capabilities of doing treatment.

14 Q. Tell me an instance,

15 A. An instance would be, there's a process that's
16 very, very common in teeth called calcific
17 metamorphosis and what it is, is a situation in
18 which the tooth -- that the nerve of the tooth,
19 the pulp of the tooth, that we talked about,
20 will lay down some additional hard tooth
21 structure, in fact, try to close off that
22 pathway. It's done in response to irritation,
23 but it can occur naturally.

24 If we looked at an x-ray and saw a lot of
25 this material, then we might feel that this is a

1 more difficult case and the individual would
2 make the decision and if they have never treated
3 a case like that, then they certainly should
4 refer that case.

5 Q. You also talked about a disease process that the
6 tooth might be undergoing as a reason for
7 referring?

8 A. Yes, things like internal resorption and
9 external resorption is probably something a
10 general dentist is not experienced in.

11 Q. What do you mean?

12 A. Internal and external resorption is a process by
13 which the dental pulp, in response to an injury,
14 actually begins to dissolve and damage the hard
15 tissue structure itself.

16 Q. When you say injury, what type of injury,
17 traumatic injury?

18 A. TI could be traumatic injury. It occurs
19 frequently with traumatic injury, but it also
20 could be decay.

21 Q. So it's your opinion that the tooth location has
22 no bearing on the difficulty, the level of
23 difficulty --

24 A. I don't think that the tooth location is, should
25 be a factor, a specific factor in terms of

1 whether the general dentist should or should not
2 do it.

3 You did ask me one reason I brought that
4 text in, we can look in that textbook and find
5 that stated very plainly.

6 Q. I'm asking you also --

7 A. And I'm giving you my opinion,

8 Q. -- based on your opinion,

9 A. I'm saying you had asked what things that I had
10 used that book for and that brought one to mind.

11 Q. Okay. Right. Are there more canals involved in
12 the treatment of molars, for example, than there
13 would be in anterior teeth?

14 A, Generally, yes.

15 Q. Does that change the degree of difficulty?

16 A. Not inherently so, otherwise there are
17 situations in which an anterior tooth could be
18 much more difficult than a molar tooth. It
19 would depend upon the individual case.

20 As a group it would be unfair to say that a
21 general dentist should or should not treat a
22 particular group of teeth.

23 Q. There were other situations, other than
24 conservative root canal versus surgical root
25 canal that you mentioned, I think they were in

1 which you were called upon as the endodontist to
2 which other patients were referred.

3 Can you give us other circumstances?

4 A. Endodontists frequently will see people who have
5 had traumatic injury to teeth, teeth which have
6 suffered such things as root fractures or
7 avulsions where the tooth was knocked out.
8 Luxation, l-u-x-a-t-i-o-n. Luxation means the
9 tooth has been moved, physically moved.

10 Those types of situations require some
11 specialized treatment. that many general dentists
12 have not had experience with, but certainly if
13 they have had experience, they are then
14 qualified to do the work.

15 Q. Okay. Can you tell me, doctor, are there
16 occasions in which you are referred a case in
17 which the general dentist began the procedure,
18 the root canal procedure, and then it was
19 referred to you?

20 A. Yes.

21 Q. Can you tell me about those instances, why they
22 are referred in those cases.

23 A. In the example that you gave where a case is
24 started and then referred to us, there are times
25 when the general dentist will feel that it's

1 within his capabilities, but as he begins
2 treatment he discovers that, oops, this is not
3 within my capability and at that point, instead
4 of pressing forward he realizes that and chooses
5 to refer.

6 Q. We talked about some of the factors that a
7 general dentist would use in determining
8 referral.

9 What about the medical condition of the
10 patient, is that something that a general
11 dentist would take into consideration?

12 A. I would be --

13 MR. SMITH: Just a minute,
14 doctor. Let me enter an objection because
15 I think the term medical condition of the
16 patient is exceptionally broad,

17 MS. TRICARICHI: I can only say
18 that he began to answer the question.

19' MR. SMITH: He's certainly
20 permitted to answer, but --

21 A. I have no problem answering the question,

22 MR. SMITH: -- I object to form.

23 A. My answer to that would be that there are, in
24 terms of absolute contraindications to
25 endodontic treatment, very, very few, if any,

1 contraindications

2 Q. Now, explain that to me.

3 A. Meaning that I am hard pressed -- as I sit here,
4 I'm not going to say that we couldn't come up
5 with some, if we thought a while, come up with a
6 situation in which the root canal treatment
7 should not be done.

8 But if root canal. is needed, I think that
9 there's no situation in which it could be done,
10 in which we would not be justified in doing it.

11 The reason that I say that is, in general,
12 when we get to the stage where a tooth requires
13 root canal treatment the alternative is surgical
14 extraction. That's pretty much where we are.
15 And surgical extraction in the medically
16 compromised patient is much more traumatic than
17 endodontic treatment.

18 Q. Than a root canal'?

19' A. Yes, than certainly the nonsurgical root canal
20 treatment.

21 Q. Well, I mean, I didn't say that, I didn't ask
22 you whether it shouldn't be done, period.

23 I asked you whether the medically
24 compromised patient, as you indicated, was a
25 factor that a general dentist should consider in

1 determining whether to refer to an endodontist;
2 or that's what I meant to ask, I guess.

3 A. I guess I misunderstood your question. I don't
4 think that there's a great deal of justification
5 for that.

6 I think that if the patient can be treated
7 as an outpatient in the office, that there would
8 be no difference.

9 If the patient required hospitalization,
10 that might be different, except, as I told you,
11 I don't have hospital privileges and quite
12 honestly, I'm not aware of too many endodontists
13 that do.

14 There probably could be a situation in
15 which if a patient were extremely medically
16 compromised that the treatment should be
17 referred and could be done in a hospital.

18 Q. Well, doctor, the reason general dentists refer
19 to you is because presumably they believe you
20 have more expertise in doing root canals,
21 specifically with reference to root canals than
22 they do?

23 A. That's correct.

24 Q. Generally?

25 A. That's correct.

1 Q. That's what they are looking for when they refer
2 to you?

3 A. Yes. I think --

4 Q. Is that right?

5 A. I think that's probably correct. In some cases
6 there are, as I said, there are many people who
7 don't want to do root canal treatment and refer
8 for that purpose.

9 Q. Doctor, let's say you were a general dentist --
10 y o practiced general dentistry?

11 A. Yes.

12 Q. A patient like Howard Axelrod presented to you
13 a diet controlled diabetic,

14 A. Yes.

15 Q. Who had been told he had a heart murmur.

16 Can you tell me if he presented to you on
17 May the -- I believe it's May the 4th, or prior
18 to the beginning of this procedure, what would
19' you have done?

20 MR. SMITH: Objection. But go
21 ahead.

22 A. TC you are --

23 Q. As a general dentist.

24 A. If you are talking about his medical status, I
25 don't believe that his medical status would be a

1 factor.

2 Q. You didn't think that was something that
3 Dr. Kriwinsky should consider in his treatment
4 of Mr. Axelrod?

5 A. I think regarding the diabetes, if a patient
6 says, and we believe him -- again, Dr. Kriwinsky
7 had had some previous experience with Mr.
8 Axelrod that you haven't built into this
9 hypothetical situation.

10 But if a patient comes in and says they
11 control their diabetes with their diet, that's a
12 sign they don't have a big problem,

13 If they have a big problem they are on, at
14 least oral medication or insulin by injection.
15 Those people we would be a little more concerned
16 about.

17 Likewise, the situation with the heart
18 murmur. Again, I didn't look real heavily at
19 that with Mr. Axelrod, but many, many people
20 have heart murmurs and they are treated by
21 general dentists on a daily basis.

22 Q. Would it be significant to you to learn as the
23 general dentist anything more about Mr.
24 Axelrod's heart murmur?

25 A. If he had come to me and I had never seen him

1 before I would probably certainly question him
2 about it.

3 Q. Can you tell me what the distinction is in your
4 mind, in your treatment?

5 A. The distinction in my mind probably would be
6 when he saw his last dentist. One of the things
7 is -- I know you are leading to -- is the
8 concern regarding potential heart problems. And
9 my standard question is, has their physician
10 told them anything and when they had their last
11 dental appointment, have they had premedication.

12 If they have had other dental care and
13 surgical procedures, then I would not be
14 extremely alarmed by that.

15 Q. So you would base your treatment entirely on the
16 fact that if they hadn't had previous
17 premedication?

18 A. That would be a factor, that would certainly be
19 a factor.

20 Q. Well, let's talk a little bit about antibiotic
21 therapy and the factors that you, that a general
22 dentist would consider with reference to
23 performing a root canal

24 What should a general dentist consider
25 before the beginning of the treatment?

1 Again, I would ask you to clarify that, I'm not
2 sure what you are -- with all the things that we
3 have talked about -- I'm not sure what you are
4 a, -, Icingme.

5 Q. We were talking a little bit about antibiotic
6 treatmeiit.

7 A. For what purpose?

8 Q. For regarding a root canal.

9 A. Yes. My question is, are we talking about the
10 heart murmur or are we talking about infection?

11 Q. Well, let's talk about Mr. Axelrod for a minute.

12 A. Okay.

13 Q. Is it your position or is it your opinion that
14 when he first came to see Dr. Krell at the
15 beginning of May --

16 A. Dr. Kriwinsky.

Q. Dr. Kriwinsky, I'm sorry. At the beginning of
May, that he had an infection at that time?

19' A. I see no evidence of that.

20 There's nothing in the record that reflects
21 that at any rate.

22 Q. You see no evidence of any disease processes?

23 A. I do see the evidence of some disease processes,
24 yes.

25 Well, explain to me, I guess, the difference

1 between what I said and infection.

2 A. You said infection and infection and disease
3 processes are not necessarily the same.

4 Q. Explain to me the difference.

5 A. Well, we are talking about Mr. Axelrod's case,
6 but in general, you could have a cyst or you
7 could have cancer or you can have an infection

8 Q. Okay.

9 A. There are different disease processes.

10 So do we see any sign? I see nothing in
11 the record or anyplace that says that Mr.
12 Axelrod on May 4th or whatever that date may
13 have been, had an infection.

14 Q. Okay.

15 A. Assuming we are talking about dental decay not
16 being an infection, it's a bacterial thing, but
17 that's not what we normally refer to as an
18 infection.

19 You have reviewed Dr. Krell's deposition; is
20 that right?

21 A. Yes.

22 Q. So you've had an opportunity to read his
23 opinion?

24 A. I did read that.

25 Q. Regarding the --

1 A. I didn't memorize it, but I did read it

2 Q. Regarding the x-rays that he saw?

3 A. Yes.

4 Q. And his opinion?

5 A. Yes.

6 Q. I don't want to misquote him, but he relied on
7 the radiological data in his determination that
8 there was disease process ongoing; would you
9 disagree with that?

10 A. If he used the word some disease process, I
11 would agree with him. I would need to review
12 his actual deposition to see what he said to see
13 whether I truly agree with him, but if he said
14 that I would agree,

15 Q. Do you agree there was some disease process
16 going on --

17 A. Yes.

18 Q. -- with Mr. Axelrod's molar back there?

19 A. Yes.

20 Q. Okay. And how would that disease process at the
21 onset of the procedure when he came in, in the
22 beginning of May, have affected your treatment
23 of him considering his other medical
24 complications?

25 A. I think the presence of the disease process is

1 why the treatment was done.

2 If your question is should he have been
3 given an antibiotic because of that disease
4 process before the root canal treatment was
5 started, the answer is no.

6 Q. Tell me why you think that.

7 A. My question to you would be, why would you,
8 because there's no indication that it's
9 necessary.

10 Q. The fact that there's disease process ongoing
11 when he walks in.

12 A. True.

13 Q. And --

14 A. If you're saying, is it the standard of care to
15 give antibiotic treatment to everybody
16 undergoing root canals because they all have a
17 disease process, the answer is no, it's not
18 clearly the standard of care. Most root canals
19 are done without antibiotics.

20 Q. At any time, either as a precautionary measure
21 beforehand or at any time?

22 A. A precautionary measure in a situation like this
23 would be, quite frankly in my opinion,
24 over-treatment

25 As a general rule, the vast majority of

1 root canals are done without antibiotic
2 treatment and certainly in this case, because he
3 had no swelling and no sign of infection at that
4 time.

5 If he had had -- if the situation was
6 different, then the answer would be different.

7 But in this situation if that tooth came
8 into my mouth, in anybody's mouth, other than
9 his, would I give them an antibiotic? The
10 answer is no.

11 Q. When Mr. Axelrod returned -- strike that.

12 While we are talking about antibiotic
13 therapy, can you tell me situations where
14 antibiotic therapy would be indicated before the
15 onset of the disease?

16 A. If a patient comes in and they have an active
17 infection that we can determine is an active
18 infection, that is they have swelling, pressure,
19 et cetera, then it may be appropriate to have
20 antibiotic therapy.

21 Q. I'm sorry, what did you say, disease process --
22 what did you say?

23 A. I said infection, signs of infection. I'm
24 saying disease process, infection may be a
25 disease process, but it's not the only disease

1 process.

2 Q. So if there had been an infection, Mr. Axelrod
3 could have had an infection when he walked in on
4 May 4th and it just didn't manifest itself?

5 A. There was no clinical evidence of that.

6 Q. Again, do you agree with Dr. Krell that there's
7 some x-ray data to indicate that there was some
8 disease process ongoing?

9 A. I would agree there's some disease process.

10 My recollection of the deposition of Dr.
11 Krell was that he used the word infection which
12 I would disagree with.

13 Q. Tell me why you would disagree with that.

14 A. It's impossible to see infection on an x-ray, it
15 cannot be done.

16 Q. Okay. You would determine infection based on
17 the subjective complaints of the patient?

18 A. That's the only way that we can, without doing
19 any treatment, yes.

20 Q. So when we are using the word disease process
21 it's a precursory to infection?

22 A. What we are saying on disease process, no, it's
23 not necessarily precursive to infection.
24 Disease process means some abnormal change. Some
25 change away from the norm, which we do see on

1 the x-rays.

2 I believe he used the term that he can see
3 areas of infection around the root and that's an
4 inaccurate statement because x-rays don't show
5 us bacteria or microorganisms which cause
6 infections.

7 Q. Could Dr. Kriwinsky have determined whether that
8 tooth was infected by his examination of it?

9 A. I don't believe so, with the information I have
10 available to me.

11 Q. So he just didn't know one way or the other,
12 Dr. Kriwinsky?

13 A. There was certainly no evidence that it was an
14 infection.

15 Q. Well, he was -- there was no evidence?

16 A. In the absence of evidence, we have to assume
17 it's not there.

18 Is there a way that anyone could say
19 absolutely, positively? No, that doesn't exist
20 in medicine or dentistry.

21 Q. Let's move to May the 15th, are you familiar
22 with these dates, sort of, from the chart?

23 A' I think the copy I have is really horrible.

24 Q. Take Mr. Axelrod's case, when he had this
25 condition performed, this root canal performed,

1 on, I think, it's May the 4th; am I right there?

2 A. There's an entry May 4th, yes.

3 Q. Okay. If you, as the treating general dentist,
4 had performed a root canal on a molar, such as
5 the molar that Mr. Axelrod had the root canal
6 performed on, and you had then received word
7 from Mr. Axelrod after he had come in to see you
8 for the second time, after the 15th, that he was
9 beginning to have swelling and pain; would it
10 have been important for you to personally see
11 the patient, doctor?

12 MR. SMITH: Let me object.

13 A, I think you have confused the date and the
14 treatment here,

15 MR. SMITH: Let me note an
16 objection. Doctor -- wait. I'm sorry.

17 Just so I can be heard. Let me note
18 an objection to the form of the question,
19 A, I do think it confuses the facts.

20 And, B, I don't think it has enough
21 facts in it to be answerable; however, to
22 the extent you understand it, answer it.

23 A. No, I can't answer that, because what you are
24 giving me is not what it says here.

25 Q. Let's take the factual situation where Mr.

1 Axelrod has had the root canal performed by Dr.
2 Kriwinsky on May the 4th.

3 A. Yes.

4 Q. He then returns for his second appointment on
5 May the 15th. Okay?

6 A. Correct.

7 Q. Subsequent to that time and after he leaves Dr.
8 Kriwinsky's office on the 15th he starts
9 experiencing pain and swelling and he notifies
10 the doctor of that.

11 A. Yes.

12 Q. If you were the treating dentist in that case,
13 based on the symptoms that Mr. Axelrod was
14 relating to you, would it have been important to
15 you as a treating physician to see the patient?

16 MR. SMITH: Objection.

17 A. Yes.

18 MR. SMITH: Go on.

19 A. I probably would want to see him the next day.
20 Well, he didn't call till that morning of the
21 16th, according to the records. I would have
22 wanted to see him on the 16th.

23 Q. Okay. Would it have been important for you to
24 observe the swelling and the amount of swelling,
25 for example?

1 A, If he had swelling, I would, I personally would
2 see the patient, yes. That would be part of the
3 process.

4 Q. Would you have made a diagnosis over the phone
5 based on his symptoms to you?

6 MR. SMITH: Ob)ection.

7 Q. Complaints to you, I should say.

8 A. Would I make a diagnosis over the phone? I
9 don't know that you can make a diagnosis over
10 the phone.

11 As I'm reading the record it says, as I
12 remember the deposition, he said that he phoned
13 and gave him a prescription for a pain
14 reliever.

15 If you are asking me if that's unusual or
16 out of the norm, the answer is no, that's a
17 fairly frequent occurrence.

18 Q. He did not prescribe antibiotics at that time?

19 A. He, according to this, he did not at the 8:30
20 morning appointment.

21 Q. And does the record indicate whether he saw Mr.
22 Axelrod at that time?

23 A. It does not indicate. It says -- as I read
24 this -- it says, pain and swelling number 18,
25 something is crossed out, I guess it says tooth

1 does not hurt a lot,

2 Q. No, just does it indicate whether --

3 A. I don't know if it -- it indicates neither.

4 This indicates neither that he did it by phone
5 or that he saw him, so I do not know.

6 Q. Would your records -- if you had records on a
7 patient like this, would your records indicate
8 whether you had personally seen him in the
9 office or whether you discussed it over the
10 phone?

11 A. I probably would. I keep significantly more
12 detailed records than we have in this case and
13 I've indicated that I think that's a
14 shortcoming, but that's what we had to work
15 with.

16 Q. Can you tell me, can you describe for me the
17 type of medication that Dr. Kriwinsky prescribed
18 initially, the Tylox?

19 A. Tylox is a synthetic narcotic pain reliever
20 purely for pain relief.

21 Q. If you had been treating Mr. Axelrod and you had
22 been able to examine him personally at the time
23 when he first complained about the swelling that
24 morning, would you have been able to determine
25 whether there was an infectious process?

1 A. I have no way of answering that question.

2 I didn't see him. I can conceive of
3 situations in which the answer could be either
4 side. I don't want to make one up for you.

5 Q. Okay. So you don't know?

6 A. I would have no way of knowing that. I have no
7 way of giving you a real answer on that.

8 Q. Well, while we were talking about indications
9 for antibiotic therapy before the process had
10 begun, you talked about the fact that swelling
11 might be an indication to you that there was an
12 infection process going on. Is swelling an
13 indication to you then at this juncture that an
14 infection process might be going on?

15 A. It would depend on what the actual situation
16 was. Is swelling always associated with
17 infection, the answer is no.

18 Swelling is a normal cardinal sign of
19 inflammation. You can have inflammation if we
20 scratch your skin. If you take your fingernails
21 and drag it across your skin you will get what
22 we call a wheal and it will actually be a little
23 swelling. That's obviously not an infection, So
24 there are different circumstances.

25 Without having seen the patient I would be

1 purely speculating and guessing and I'm really
2 unwilling to give you an answer.

3 Q. Well, having just undergone the second part of
4 this treatment, the second sitting of this root
5 canal, and then learning that Mr. Axelrod was
6 experiencing some swelling, that's a little
7 different than scraping your hand across your
8 skin and creating any inflammation?

9 A. Not as remote as you would think. It still
10 could be either from trauma, the trauma of the
11 procedure or it could be from an infectious
12 process. If I saw him I might be able to make
13 that determination.

14 But if you are asking me to sit here two
15 years later and make the decision, I just can't
16 do that.

17 I see nothing in the record that lets me
18 give you a real answer to that.

19 Q. Did it matter -- would it have mattered to you,
20 had you been the treating physician, the
21 treating general dentist, whether Mr. Axelrod
22 was experiencing the swelling, the fact that he
23 was a diabetic; would that have mattered to you?

24 MR. SMITH: I'm sorry, what?

25 Q. Would the fact that Mr. Axelrod was a diabetic

1 and was experiencing this swelling have mattered
2 to you in your treatment?

3 MR. SMITH: Thank you,

4 A. I don't think I would have been significantly
5 more concerned, assuming again, that he was
6 accurate **in** his claim of control of diet. A
7 controlled diabetic's healing is as good as a
8 normal person's, if they are truly controlled.

9 Q. A controlled diabetic is no more compromised
10 than someone who doesn't have diabetes?

11 A. If it's truly controlled I don't think there's a
12 significant difference in how we would treat
13 them.

14 Q. Doctor, what is the significance of a patient-
15 calling and saying -- like Mr. Axelrod did --
16 that he had swelling and then a /:; he was
17 running a temperature; can you tell me what --

18 MR. SMITH: Objection. Again, I
19 don't think there's enough facts.

20 MS. TRICARICHI: Well, the
21 deposition of Mr. Axelrod indicates that.

22 MR. SMITH: I'm sorry?

23 MS. TRICARICHI: His deposition,
24 that's what he indicates in his
25 deposition.

1 MR. SMITH: I know, but swelling
2 the size of a golf ball, a grapefruit? A
3 temperature of 110 or 100?

4 I mean, you are asking him what the
5 significance is of tenderness and swelling
6 and a temperature, so what swelling and
7 what temperature?

8 MS. TRICARICHL: I'm talking
9 about

10 Mr. Axelrod's swelling.

11 MR. SMITH: What is that?

12 MS. TRICARICHL: Well, based
13 on --

14 MR. SMITH: I don't have a
15 photographic memory

16 A. That's my point again. If you are asking
17 regarding Mr. Axelrod, I don't know what those
18 numbers were.

19 Would I be concerned about it, would I want
20 to find out what those were if I were the
21 treating doctor? I would want to see him at
22 that point.

23 Q. You would want to see him. Would you want to
24 take his temperature and find out whether he was
25 running a temperature?

1 A. That would be a possibility if he had taken it
2 and told me, I would probably, assuming that
3 I've never met Mr. Axelrod, but assuming he's a
4 relatively normal, rational, reliable person and
5 he said my temperature was 98-and-a-half or
6 99-and-a-half or whatever, maybe I would believe
7 whatever he told me unless there were a reason
8 to believe differently.

9 Q. Would those two things having heard from him in
10 the morning of May the 15th -- May the 16th, I
11 stand corrected, May the 16th, that he was
12 experiencing swelling and running a fever cause
13 you to think there was some kind of infection?

14 A. If those were the facts -- I don't know that
15 those were the facts, i t ! not in here. But if
16 those were the facts, the swelling with the
17 fever would indicate that he probably is
18 undergoing. an infectious process, yes.

19 Q. If you were the treating general. dentist in this
20 case, what would that indicate to you in terms
21 of treatment at that point?

22 A. If this were the case, if this hypothetical
23 situation were in fact the case, then I would
24 feel it was likely that he had at least a low
25 grade infection at that point and I would

1 consider putting him on antibiotics at that
2 point in time.

3 Q. Can you give me an example of one particular
4 antibiotic you would have used at that
5 particular juncture?

6 A, Most dentists will use either penicillin or
7 erythromycin as a drug of first choice depending
8 upon personal preference and the patient history
9 and so forth.

10 Q. Did your records indicate on your patients what
11 particular antibiotics you use?

12 A. Yes. My personal records, yes.

13 Q. Your records of your patients?

14 A. Yes.

15 Q. Would indicate that?

16 A. Yes.

17 Q. That would be good form to indicate that on your
18 records?

19 A. Yes.

20 Q. Do you, doctor, keep records on patient's charts
21 of any conversations that you have with other
22 dentists or other -- it's hard, you would be
23 talking to you -- as an endodontist -- solely, you
24 would have been talking to general dentists?

25 A. As an endodontist, I would probably do that,

1 yes.

2 Significant conversations, of course.

3 Q. Would it be good procedure to do that if you
4 were a general dentist as well?

5 A. I think it would probably be reasonable, sure.

6 Q. Now, later on, on the 16th, Dr. Kriwinsky, I
7 believe, Dr. Kriwinsky talks about an I&D?

8 A, I don't see it.

9 Q. Oh, no, it's on the 17th. I think it's on the
10 17th. His statement is, there's no where to
11 I&D.

12 Can you explain to me what he meant by
13 that?

14 A. What I think he meant? What he meant, you would
15 have to ask him.

16 Normally when we are going to do an
17 incision and drainage -- or I'm making the
18 assumption that is what he's talking about here
19 what we would do, if the patient said that there
20 is swelling here, we would retract their cheek
21 and look for an area that's what we call
22 fluctuant. Fluctuant is an area that has a
23 fluid-filled sac or fluid-filled balloon.
24 Someplace where we can determine that there is
25 something to drain, generally pus or infected

1 material. And if we can find that spot, then we
2 would make the incision in that general area.

3 Q. Well, when you say there's something to drain,
4 if there's a swelling there, doctor --

5 A. Uhm-hum.

6 Q. -- isn't there going to be something to drain?

7 A. No, not necessarily.

8 Q. Tell me why not necessarily.

9 A. Because you can have swellings that have
10 absolutely no drainage, You can have swellings
11 which may develop drainage but are too early a
12 stage, they have not localized is the term that
13 we use.

14 When the infection first starts it tends to
15 be very generalized and the tissues are very
16 firm and stretched, but there's not this pool of
17 infected material with which we can locate and
18 drain.

19 Q. Would you, as an endodontist, have been able to
20 locate that pool more readily than a general
21 dentist?

22 A. Again, I have no idea. Without seeing Mr.
23 Axelrod, that would be purely speculation on my
24 part.

25 I've never met Mr. Axelrod.. I know quite

1 honestly when I talked with Dr. Kriwinsky he
2 told me Mr. Axelrod and I have something in
3 common, big, chubby cheeks.

4 I've worked on other people, not too many
5 people bigger than I, but it's more difficult on
6 large people,

7 Swelling on you, we would see right away.
8 On me, you have to count chins to see if I have
9 an extra one on the side.

10 So could I have seen it? I don't know.
11 You're asking me to make a judgment I can't
12 fairly make. I'm not going to say something
13 more for or against your client or mine or Mr.
14 Smith's at any rate, because I don't know that.
15 I don't know that.

16 Q. Can you tell me when an I&D procedure is
17 indicated?

18 A, An incision and drainage in this situation that
19 we are talking about here, which is as I'm
20 interpreting it, okay, from the record, I only
21 have what's in front of me here, would be
22 indicated only if he could find this area, an
23 area in which he could feel this fluctuance and
24 in which he knew that if he made an incision
25 that he would get some drainage or some relief

1 from that particular area.

2 Q. Okay.

3 A. If he had a generalized hard swelling it would
4 be inappropriate to do an incision and drainage,
5 as I'm envisioning his problem.

6 Q. It would be inappropriate for any type of --

7 A. Yes.

8 Q. -- dental practitioner to do?

9 A. Yes. Yes.

10 Q. Well, how would you treat something like that
11 that wasn't fluctuant?

12 A. Antibiotic therapy.

13 Q. Can you tell from the records what type of
14 swelling Mr. Axelrod was experiencing?

15 A. With the information that he has here, let me
16 read it and tell you.

17 MR. SMITH: Are you talking about
18 just Dr. Kriwinsky's record or the hospital
19 chart and everything else:

20 A. Well, as I'm looking at Dr. Kriwinsky's
21 record --

22 MR. SMITH: Doctor, wait. I'm
23 sorry. I want a clarification for the
24 record.

25 You asked, Carla, can you tell from

1 the record what kind of swelling he had. I
2 guess you are driving at whether or not
3 I&D was possible, and using just
4 Kriwinsky's records or all the records?

5 MS. TRICARICHI: Right now I'm
6 asking him about Dr. Kriwinsky's comment
a about what he said, I&D, no where to
a drain. That's what started this whole
9 thing.

10 Now, I'm talking about the information
11 that's contained in Dr. Kriwinsky's
12 records.

13 MR.. SMITH: Thank you.

14 A. Looking at Dr. Kriwinsky's record on the 17th he
15 starts -- if I can describe it a little bit
16 more -- he says he has some swelling under the
17 tongue on the anterior lateral or left side and
18 submandibular region Then he refers to the
19 incision and drainage problem.

20 So what do I see? I see nothing in the
21 record that indicates anything different than
22 what we have been talking about,

23 He apparently looked to see if he could
24 find an area that we described where he could
25 make this incision and drainage and apparently

1 didn't find it.

2 Q. So he didn't find this fluctuant area that you
3 were talking about?

4 A, Yes.

5 Q. Or he describes as a soft area, I think?

6 A, Yes, same thing.

7 Q. In your review of the Kaiser hospital records,
8 when he was brought in originally --

9 A, Uhm-hum.

10 Q. -- into the emergency room, do you recall any
11 further description of the swelling that would
12 indicate a different consistency or did it
13 indicate the same consistency in swelling?

14 A, No. As I read it, I saw nothing which indicated
15 a difference. I think quite clearly, no
16 difference.

17 Q. So under no circumstances could Dr. Kriwinsky
18 have performed an incision and drainage in the
19 office?

20 A. He could have, but I don't think he should have.

21 Q. He should not have done that?

22 A. Yes, I believe that, at that point.

23 Q. Is there any -- could any other type of dental
24 practitioner, i.e., an endodontist, or -- well,
25 let's just say an endodontist, could that type

1 of person have performed an I&D at that time,
2 that juncture?

3 A. Again, could they have or should they have?

4 Q. Well, should they have.

5 A. Again, I did not see him. And another
6 endodontist did on the 17th, as I read the
7 record and his professional opinion at that time
8 would answer the question, no.

9 In other words, Dr. Katz, he was referred
10 to Dr. Katz and Dr. Katz did not elect or did
11 not feel an incision and drainage was
12 necessary --

13 Q. Well --

14 A. -- from the record. That's all I have, again, I
15 didn't see Mr. Axelrod.

16 Q. What record from Dr. Kriwinsky's record?

17 A. From the record and/or the deposition, I'm not
18 sure where this comes from, but either in the
19 deposition or the record I remember seeing that
20 he talked with Dr. Katz on the phone and Dr.
21 Katz said, do an incision and drainage at that
22 point. As I remember it, Dr. Kriwinsky said I
23 can't find the place to do it; The discussion
24 we just had.

25 In which case, then Dr. Katz said I would

1 see him. And I believe that the record shows --
2 and I don't believe there's any dispute -- that
3 Mr. Axelrod saw Dr. Katz on the 17th.

4 At that appointment Dr. Katz obviously
5 examined him and elected not to do an incision
6 and drainage.

7 So I have to make the assumption and I'm
8 making an assumption, that my feeling would have
9 been the same. I see nothing that says it would
10 have been different had I been there.

11 But I did not see the circumstances, so I'm
12 going only by what I'm hearing.

13 Q Doctor, is it possible that Dr. Katz instead of
14 your perception of what Dr. Katz'
15 nonperformance of the I&D was, that he didn't
16 think it was appropriate at that time?

17 A. Yes, that's a judgment I'm making.

18 Q. That's the judgment. Isn't it possible, based
19 on the records that you have, which is
20 essentially Dr. Kriwinsky's version of what
21 Dr. Katz did or did not do, I mean, that's what
22 your opinion is based on?

23 MR. SMITH: Well, I mean he's --

24 A. I think there's no -- as I read both Dr.
25 Kriwinsky's deposition and Mr. Axelrod's

1 deposition, I saw no disagreement on the facts
2 of this relationship with Dr. Katz.

3 Q. Well --

4 A. I didn't see anything in there ~ha tMr. Axelrod
5 was saying this didn't happen. That he went
6 there and he saw him and he said, take a
7 different antibiotic and we'll see how it goes
8 in the next 24 hours is basically what happened.

9 So I didn't think that there was -- I don't
10 remember that there was any disagreement about
11 this with plaintiff or defendant.

12 Q. Dr. Katz didn't treat him at all?

13 A. I don't believe that he did. I believe he
14 looked at him and determined at that point in
15 time, treatment, which would have been incision
16 and drainage, was inappropriate based on his
17 professional judgment. I don't know why --

18 MR. SMITH: For the record, just
19 so the record is clear, the first thing you
20 did, Carla, I think was establish that
21 among the things that Dr. Krebs reviewed
22 were Dr. Katz' records. We are talking as
23 if he has not seen those records and I
24 think he has.

25 MS. TRICARICHI: I'm talking about

1 what he bases his opinion on as he speaks
2 here today. If he wants to talk about --

3 A. I'm basing my opinion on --

4 MR. SMITH: Wait a minute, doctor.
5 You keep adding these factual statements in
6 the front of your question Chat implies
7 that the only thing he's looked at are
8 Dr. Kriwinsky's record and that's going to
9 create a confusing deposition.

10 He's seen other records. He's seen
11 Dr. Kriwinsky's record. He's seen
12 Dr. Katz' records, x, y and z records,
13 everything you established in the first
14 part of the depo.

15 I just want the deposition to be
16 clear on Kaiser records.

17 MS. TRICARICHI: I asked him
18 what he was basing the opinion on.

19 A. I think I told you. I hope I told you.

20 Q. If a general dentist once refers you to, or
21 refers a patient to an endodontist and then the
22 endodontist is presumably treating the patient
23 for that particular condition, would it be
24 normal procedure for the dentist then, the
25 general dentist, to continue to treat that

1 patient for that problem?

2 A. I would think that the normal course would be
3 for the general dentist to drop treatment and
4 allow the specialist to take over at that point.

5 Q. That didn't happen in this case, isn't that
6 right?

7 A. I don't think either of them did anything after
8 the fact. I think, as I read the record, after
9 he saw Dr. Ratz sometime on the 17th, I'm not
10 sure of the time, the next thing we know is that
11 Mr. Axelrod took it upon himself to present to
12 the medical people, as I read the record.

13 Q. Would it have made any difference if Dr.
14 Kriwinsky had referred him to Dr. Katz on the
15 16th?

16 MR. SMITH: Objection. Go ahead.

17 A. No. I think the answer is, to the best of my
18 professional opinion is, no, it would not have
19 made a difference.

20 Q. It wouldn't have made a difference?

21 A. No.

22 Q. You testified that you had not seen the patient
23 as an endodontist and you didn't see how, based
24 on this information, an I&D, whether it would
25 have been appropriate or not?

1 A. Correct.

2 Q. What about, would it have made any difference if
3 Mr. Axelrod had seen an oral surgeon with
4 reference to their ability or their inability to
5 do an I&D?

6 A. In a normal situation? What day are we talking
7 about, I guess --

8 Q. On the 16th.

9 A. On the 16th, would it have made a difference? I
10 really doubt it.

11 Again, anything is possible. I know where
12 you're going, because I read Dr. Krell's
13 deposition.

14 But in my opinion, if he saw the average
15 oral surgeon on the 16th, less than 24 hours
16 after this problem began, that average oral
17 surgeon would not do an incision and drainage at
18 that point in time.

19 Q. Why is that?

20 A, Because I've seen hundreds of these cases and
21 I've never seen anybody do it. I don't think
22 it's reasonable. I think it would be
23 over-treatment,

24 Q. You have never seen someone do -- you mean it's
25 premature, is that what you are saying?

1 A. Yes. I'm saying it's premature. The vast
2 majority of these cases are resolved by
3 antibiotic therapy without this external
4 incision and drainage that Mr. Axelrod had.
5 It's a very unusual procedure, It's not done on
6 a daily basis in people that have these
7 infections on a daily basis.

8 Q. So what you are saying is it could have been
9 treated totally by antibiotic therapy?

10 A, I think it could have and it may have been. It
11 despites another treatment on top of it. We
12 don't know.

13 Q. I don't understand what you mean by that
14 comment.

15 A. What I'm saying is that the preferred treatment
16 in these types of :infections is to do antibiotic
17 therapy first and give it an opportunity to *see*
18 if it will resolve

19 Q. Is time of the essence regarding antibiotic
20 therapy in this type of situation?

21 MR. SMITH: Objection.

22 A. I think time is -- I'm riot sure what the term
23 "of the essence" means in the legal vernacular,
24 but I would say certainly, we shouldn't wait
25 days without prescribing it, but as I look at

1 the record it appears that Mr. Axelrod received
2 some antibiotic approximately noontime the day
3 that he called, so I don't have any great
4 problem with that.

5 Would it have been nice -- as I told
6 Mr. Smith -- if he gave it to him at 6 in the
7 morning; it would have been nice, but I doubt it
8 would have changed the outcome.

9 Q. It would have been better?

10 A. It would have been.

11 But I think when we see now, with hindsight
12 that progress of the infection, I don't think it
13 would have helped.

14 Q. Why is that?

15 A. Well, because we saw that 24 hours later he had
16 no significant improvement and time -- I'm not
17 sure that what we are really talking about here
18 is a four-hour time difference and it would have
19 made a significant difference. I'm hard pressed
20 to imagine that would have changed this case.

21 Q. You think an incision and drainage on the 16th
22 would have been too aggressive of a --

23 A. Absolutely.

24 Q. -- procedure?

25 A. Absolutely.

1 Q. -- to perform?

2 A. Absolutely.

3 Q. Well, doctor, is it your opinion that
4 Mr. Axelrod's development of Ludwig's angina
5 which was --

6 A. Wait a minute. It's my opinion he did not
7 develop Ludwig's angina.

8 The definition of Ludwig's angina, in any
9 surgery or oral infection textbook defines it
10 as a bilateral infection.

11 And Mr. Axelrod had only unilateral
12 involvement.

13 Q. So you disagree with the diagnosis and the
14 people at Kaiser who treated him?

15 A. No. I like their diagnosis because they got it
16 right, then someone in pencil wrote it in ,
17 Ludwig's angina. There's something called false
18 Ludwig's angina. It's a term which
19 unfortunately is thrown around a lot, but does
20 not meet the classic definition, it's
21 unilateral. In fact if you will read the
22 textbook I quoted you before Dr. Archer, the
23 maxillofacial surgeon, he flat out states if
24 it's not bilateral it is not Ludwig's angina, so
25 we would disagree with the diagnosis, yes.

1 Q. Well, tell me if there's a difference in whether
2 we call it Ludwig's -- so you are saying it has
3 to be bilateral in order to be a true Ludwig's
4 angina?

5 A. I'm not saying that, the textbook says that.

6 Q. But that's your clinical opinion as well?

7 A. Yes, absolutely.

8 Q. Have you treated patients with Ludwig's?

9 A. No, not with -- I've treated patients with this
10 type of situation. But I've never seen a case.

11 In the recent times I've never read of a
12 case, Ludwig's angina, I don't know if it
13 exists, I mean, it does in theory. This was a
14 problem pre antibiotics with a serious
15 life-threatening problem pre-antibiotics. But
16 I'm not aware of any cases.

17 There probably are some, but I'm not aware
18 of any cases in the recent literature that say
19 it exists,

20 Q. But you have treated patients with conditions
21 like this on one side?

22 A. Yes, yes.

23 Q. How many such patients have you treated?

24 A. Oh, I would guess over the years, depending upon
25 degree, now, I don't know the degree. Patients

1 with significant swelling that you could see
2 below here, I would guess over the years, and
3 I'm purely guessing. Maybe in the range of 50.

4 Q. And can you tell me were these patients, I know
5 it's hard to generalize, but in some of these
6 patients, were these patients in which you had
7 performed the root canal from the beginning?

8 A. I know that there have been at least a patient
9 or two that fit that category where that may
10 have happened. I don't know at what point
11 during treatment, but it has happened during the
12 course of treatment, yes.

13 Q. One or two. And the others that you have
14 treated?

15 A. May have come in that way before we saw them.

16 Q. So from another, from a referring --

17 A. Yes.

18 Q. -- general dentist?

19 A. Yes,

20 Q. What was the type of treatment, if you can
21 generalize?

22 A. The treatment is, if, for instance, if the
23 patient were referred to me and had not had root
24 canal, would be to institute root canal
25 treatment and place him on antibiotic therapy.

1 Q. What if they had root canal?

2 A. If they had the treatment, then the treatment is
3 to still place them on antibiotic therapy and
4 follow them closely.

5 Q. Of those 48 some patients approximately, did you
6 perform an incision and drainage?

7 A. In none of them.

8 Q. In none of them?

9 A. Never.

10 Q. So in all of those cases their problem was
11 resolved solely by antibiotic therapy?

12 A. Yes.

13 Q. And were any of them hospitalized?

14 A. I've never had a patient hospitalized.

15 In our practice with the people that we
16 have had we have one patient that had to be
17 hospitalized. It was not a patient I was
18 treating, but we had one patient that was
19 hospitalized.

20 Q. For this type of condition?

21 A. Yes, for the procedure we are talking about.

22 Q. Well, tell me, if that's the case, doctor, tell
23 me what, if you had been the general dentist
24 seeing Mr. Axelrod on May the 17th, how would
25 you have had him proceed from there?

1 A. I would have --

2 MR. SMITH: Objection. Go ahead.

3 A. I would have referred him to a specialist if I
4 felt -- as I think Dr. Kerwinsky states, that he
5 didn't, didn't understand how to treat the
6 situation, he realized that this had now passed.
7 from what he was capable of handling. I would
8 have referred him to an endodontist, most
9 likely, if I were the general dentist.

10 Q. At that point?

11 A. Or it's possible an oral surgeon as Dr. Krell
12 would like us to do. I would not disagree that
13 that's at least a possibility.

14 Q. An oral surgeon would be capable of treating
15 this --

16 A. I think either person could.

17 Q. -- condition, Either an endodontist --

18 A. Yes.

19 Q. -- or and oral surgeon?

20 A. Yes, certainly.

21 Q. They are both qualified?

22 A. Absolutely.

23 Q. Is it your opinion that this, let's call it
24 one-sided Ludwig's angina, or whatever you call
25 it, mocks --

1 A. False Ludwig's angina.

2 MR. SMITH: One-sided Ludwig's
3 angina is a dental oxymoron.

4 A. It's a submandibular cellulitis is probably a
5 more correct term.

6 Q. Is it your opinion that was directly related to
7 the endodontic procedure performed by Dr. --

8 A. The timing is such I would have to say it
9 certainly appears to be.

10 Everything is consistent with that.

11 You know, could you say, is there any other
12 -- Mr. Smith asked me -- is there any other
13 remote possibility? Yes, but it's remote. I
14 think it was related to this.

15 Q. So --

16 A. Everything I see says it is.

17 Q. So is it your opinion that earlier antibiotic
18 treatment before the procedure began, that is,
19 precautionary or prophylactic antibiotic
20 treatment, would not have made any difference?

21 A. Well, as I said, prophylactic antibiotics to
22 prevent this problem, is not the normal
23 treatment, It's not the standard of care,

24 If you are asking me now that we have 20/20
25 hindsight, might it have made a difference? If

1 we were lucky enough to know this was going to
2 happen and pick an antibiotic that the
3 microorganism was sensitive to, perhaps. But
4 it's not the norm to premedicate with an
5 antibiotic to avoid this type of a problem.

6 I think we addressed that earlier, the vast:
7 majority of people would not get an antibiotic.

8 Q. But had he been given the antibiotic on a
9 precautionary basis?

10 A. We don't know if that would have been effective.
11 You can make a case it might have been, but we
12 have no way of knowing because we don't know
13 what the organism was sensitive to.

14 Q. You said on the 17th Dr. Kerwinsky clearly feels
15 it's out of his range of capability and he
16 refers to Dr. Katz; is that a fair statement?

17 MR. SMITH: Objection.

18 A. Are we allowed to review?

19 Q. Sure, you are allowed to review anything.

20 A. Let me see Dr. Kerwinsky's deposition and I can
21 quote what he said. I don't want to put words
22 in his mouth

23 MR. SMITH: I thought what he said,
24 Carla, if I'm correct in assuming
25 Dr. Kerwinsky said --

1 MS. TRICARICHI: No. I'm talking
2 about what Dr. Krebs said about his opinion
3 of what Dr. Kerwinsky said.

4 A. That was the impression that I got and I would
5 like to not put words into his mouth and see if
6 we can find a --

7 MR. SMITH: Well, doctor, I'm going
8 to step out for one minute while you are
9 just talking about anything except the
10 case.

11 - -
12 (Off the record.)

13 - - - -

14 MR. SMITH: Carla, please proceed.

15 Q. Doctor, go ahead.

16 A. Well, I guess what I was thinking of I may have
17 misquoted him. I'm just reading a question that
18 you asked him regarding this and he says
19 "because the only conditions under which he
20 would have drained it because it was within my
21 realm of capability," so I'm assuming -- I guess
22 I made the assumption incorrectly. I don't see
23 that he said it. But my feeling was at this
24 point, or my opinion was at some point he
25 realized it was time to refer Mr. Axelrod to Dr.

1 Katz, which he obviously did. So at some point
2 in there he made the decision, at least in his
3 mind, that he would require some help from a
4 specialist.

5 Q. Would it have made any difference if he had
6 referred him a day before?

7 A. I think it probably would have been
8 inappropriate. I doubt that it would have -- I
9 think you asked me before, if it had would have
10 made any difference and the answer is still no.

11 Q. You think it would have been inappropriate?

12 A. It may not have been inappropriate, but it would
13 not have had any significance. I think at the
14 16th it would not have been inappropriate --
15 that's not a correct statement.

16 On the 16th it would have been appropriate
17 to refer, if he had thought he had a reason to
18 do that. I see nothing in the record that says
19 it was imperative at that point that he should
20 have referred. That was purely a clinical
21 judgment on his part on the 16th.

22 On the 17th we are getting to the stage
23 where I think he realizes that referral is
24 appropriate and I think rightly so.

25 MR. SMITH: Doctor, I want to

1 interject here, because I don't want to
2 confuse the words appropriate with
3 mandatory or the standard of care.

4 MS. TRICARICHI: You know, it's a
5 plain meaning of the word. We can look it
6 up in the dictionary.

7 MR. SMITH: Doctor, I want you
8 to --

9 MS. TRICARICHI: This isn't your
10 deposition. What he says speaks for
11 himself. If he wants to explain himself on
12 direct examination, that's fine.

13 A. I hope that was clear. Do I think he violated
14 the standard of care by not referring on the
15 16th? Obviously, very clearly, no, he did not
16 violate the standard of care, if that's what you
17 are asking.

18 Q. No, I asked what I asked.

19 MS. TRICARICHI: And I would object
20 to Mr. Smith's testifying on behalf of **this**
21 witness here.

22 MR. SMITH: What was that?

23 MS. TRICARICHI: If your witness
24 doesn't understand --

25 MR. SMITH: Trying to slander

1 me?

2 MS. TRICARICKI: -- doesn't
3 understand what I have to say, I think I'm
4 perfectly approachable and he can ask me to
5 rephrase the question so he understands it.

6 MR. SMITH: I'm
7 searching through for the truth here.

8 MS. TRICARICHI: You're always
9 searching for the truth, like all insurance
10 companies.

11 Q. I want to ask you some questions about some
12 notations that you made on your copy of
13 Mr. Axelrod's deposition. It was a little
14 cumbersome. Let me lean over, we only have one
15 copy with your notations.

16 At page 23 beginning at line, I believe,
17 five, I can't see -- five and going to line
18 twelve, you make a notation with a question
19 mark, can you tell me what the significance of
20 that is?

21 A. Well, my question mark was, I think, my concern
22 was that he said when he went in for the very
23 first time, and that was some significant period
24 of time before the interval that we were talking
25 about. And then the question becomes well, was

1 he talking May 4th and later on he did; so as I
2 was reading that I put a question mark there
3 because my question was, had he done it in 1985
4 or had he done it in 1990.

5 Q. Had he done what, told him about: his medical
6 condition?

7 A. Yes, yes.

8 Q. Okay.

9 A. Yes.

10 Q. How frequently should a general dentist update
11 his medical information that he has on his
12 patients?

13 A. I think that there's no absolute standard, but I
14 think certainly at least annually the patient
15 should be asked if there are any changes and it
16 may be, are there any changes in your medical
17 history.

18 Q. Would those notations be noted on the chart?

19 A. If there were any changes, I would say it would
20 be appropriate, at least in our office.

21 Q. If there were no changes it wouldn't be noted
22 that that question had been asked or that there
23 had been no changes?

24 A. It may or may not be.

25 Q. What's the procedure in your office?

1 A. Well, we don't see patients on a return basis
2 very often so that's kind of a hypothetical
3 question.

4 Q. Or at least you hope not?

5 A. I see them and they leave, so I can't answer
6 that question to be honest with you.

7 Q. Well, when you are teaching your students?

8 A, Virtually the same situation there. We see
9 people for a very short period of time, they
10 leave and they do not come back, as a general
11 rule, except some extended period of time then
12 the university then requires they go through the
13 process all over again. If they had a root
14 canal treatment and were in the graduate
15 department, if they were sent back to the
16 undergraduate department and wandered back in,
17 would they ask the question? I would hope they
18 would ask the question, but it would depend on
19 timing.

20 Q. Do you counsel your students to consult with the
21 treating physicians of patients when they have,
22 when they are medically compromised?

23 A. If it's a significant problem.

24 Q. Okay.

25 A. I don't think that in a situation like this,

1 they would call a physician.

2 Q. For either of the underlying conditions we
3 talked about?

4 A. No, probably nor;.

5 Q. The diabetes?

6 A. Probably not,

7 Q. In your practice, did you have occasion to call
8 treating physicians?

9 A. Very rarely.

10 Q. That would riot have been good procedure to check
11 with --

12 A. I don't think it's a standard procedure in
13 dentistry. I'm sorry, I closed the page up for
14 you.

15 Q. That's all right. At page 25 of Mr. Axelrod's
16 deposition you also make a notation, but can you
17 first decipher and explain it?

18 A. It says, not a time of treatment oral medication
19 for oral diabetes, but not '90. He was
20 testifying that he took Micronase, but that was
21 after the situation we are talking about. He
22 was not taking Micronase, according to him, at
23 the time this procedure was done,

24 He had indicated lie was controlling it with
25 diet. Micronase is an oral medication for

1 diabetes.

2 Q. Is it like insulin?

3 A. It's an oral, Yeah, oral type.

4 Q. Okay.

5 A. I'm not intimately familiar with it. I know it
6 exists, we do not prescribe it, but I know it
7 exists, it's an insulin substitute.

8 Q. At the bottom of page 39 of Mr. Axelrod's
9 deposition there's a notation in your hand. Can
10 you tell us what that says?

11 A. Yes. It says Dr. Record shows payment and the
12 question was, a receipt for treatment and this
13 was a situation which was, as I recall it, there
14 was a question of what the payment was for and
15 the doctor's testimony and the record indicated
16 it was for another family member, I believe Mrs.

17 Q. This had to do with how frequently they had seen
18 him or something?

19 A. Yes, it was something of that nature. And there
20 was a ledger card which may be in the record
21 here, which basically came along with that.

22 Q. And at page 43 of Mr. Axelrod's deposition, line
23 19 -- line 14, I'm sorry?

24 A. Yes, the question which you're addressing, Mr.
25 Axelrod testifies he always mentioned heart

1 murmur and once he found out that he mentioned
2 he had diabetes, too he's saying it at every
3 appointment,

4 My check mark was, it says check Dr. Grady
5 because he **had**. surgical treatment with Dr. Grady
6 sometime earlier and my question for Mr. Smith
7 was, it might be appropriate to ask Dr. Grady,
8 can he confirm that the patient came in and told
9 him this information; attempting to determine if
10 in fact, this occurred at every appointment,

11 Q. At page 48 approximately line 6, I don't know
12 what the notation is.

13 A. It says he had anesthesia, question mark.

14 I'll have to go back a little bit and see
15 where we are. Okay. This was on 5/10 that it
16 says he finished work put a temporary filling
17 in, et cetera. Asked him about prescriptions
18 and so forth, wondered whether he should have a
19 pain killer.

20 My question was, did he have anesthesia for
21 the root canal? Otherwise why was he asking for
22 a pain reliever. I never had that question
23 subsequently answered by Mr. Axelrod.

24 Q. I don't understand.

25 A. Otherwise, what I'm saying is, if you finish up

1 a dental appointment and you say I'm going to
2 need something for pain. Wait. She's numb.
3 Wow does she know she has pain? I questioned
4 that in my own mind.

5 Q. In other words, whether there had been a history
6 of having problems?

7 A. Yes. There's nothing in the record that says he
8 had pain at that point in time, I looked. This
9 is when I read through, I write these notes to
10 myself to see if I can answer this question,
11 Sometimes I resolve it and find it goes away and
12 didn't erase them. I'm not trying to tell you I
13 didn't give that some thought.

14 My question was, I go back. in the record it
15 doesn't tell me he was anesthetized at this.
16 This is at the end of the appointment he wants
17 to know.

18 Q. At the end?

19 A. This is at the end of the 15th, partially. The
20 way I'm reading that. But he said, he finished
21 the work. So I could be -- but it was at one
22 appointment or the other at any rate. That's
23 why I had that in there, at any rate.

24 Q. I'm just trying to understand your thought
25 process in these notations, that's all.

1 A. These are things that I thought might be a
2 factor, they may or may not be.

3 Q. At page 49 of his deposition you underlined the
4 comment by Howard that the tooth was tender
5 until his return on the 15th.

6 A. That was so that I would check it against the
7 record and I don't see that substantiated in the
8 record. That's his testimony and I was unable
9 to substantiate that,

10 Q. In other words, as we talked about --

11 A. My question is or what I did was, I try to, when
12 I have a case, I try to compare plaintiff and
13 defendant and see what areas we don't agree on.
14 Because if they both agree you are not going to
15 ask me the question, I hope.

16 Q. It's your testimony that Dr. Kerwinsky is not as
17 complete as he could be in his record keeping?

18 A. I think he would have served himself much better
19 with more detailed records, no question.

20 And then you skipped over the other part
21 here, if you will. Were you experiencing any
22 swelling? He says no.

23 Q. Between the first and the second?

24 A. The second visit he had no swelling, that's what-
25 I based my answer to you before, should he have

1 had antibiotic. If the answer to that was yes,
2 then I would have given you a different answer.
3 But this is a plaintiff's comment, he had no
4 swelling.

5 Q. So if he had come in on the 15th for this --

6 A. And said I have swelling, we have a whole new
7 ball game. But when he comes in on the 15th and
8 says, no, I have no swelling I wouldn't have
9 given him an antibiotic.

10 Q. But the fact he had said he has mere
11 tenderness --

12 A. Not in terms of an antibiotic, no. It says
13 adjust occlusion.

14 He had placed a temporary, he placed
15 filling material in. These are not -- I realize
16 you are looking for significant comments, but
17 they are not all significant.

18 Q. It's your thought processes?

19 A. Yes, exactly. Tylox is oxycodone.
20 O-x-y-c-o-d-o-n-e, and acetaminophen.

21 Tylox is oxycodone and acetaminophen. Then
22 as you can see I was labeling the day so I could
23 get the chronology correct.

24 Q. Okay. Again, on page 54, you underlined--

25 A. Those are just points that I thought were

1 important to consider.

2 Q. 7, 13 and 16.

3 A. Yes.

4 Q. His symptoms?

5 A. Yes. The significant signs that I was going to
6 base my judgment upon,

7 Q. At page 55, what's your notation?

8 A, Mine is that he's confused regarding the time
9 and date of prescriptions. There are a couple
10 of times in his deposition where he was
11 uncertain of when the prescriptions were given
12 so I have to rely upon the doctor's record for
13 that.

14 Q. Oh, this just had to go with his confusion on
15 page 56?

16 A. Yes. Again, same question. Obviously, he's a
17 little unclear, he says I'm unclear now whether
18 I got it at 4:00, Tuesday afternoon or if I got
19 it the next morning.

20 Q. Let me see. There's a statement by Mr. Axelrod.
21 at page 62, and he's relating what Dr. Kerwinsky
22 said to him and that is, the tooth was okay, I
23 think?

24 A. Yes. Tooth was fine.

25 Q. And this?

1 A. Why did I underline that? I underlined that to
2 confirm what we knew, the tooth was fine. The
3 area around the tooth, that wasn't too fine, we
4 are not saying he didn't have a problem, but
5 there seemed to be a great deal in the
6 deposition, a great deal of emphasis on whether
7 the tooth was sore or not and that's not a big
8 factor.

9 Q. That's not?

10 A, There's a great deal of information in Dr.
11 Krell's also. Day two, day three, I was trying
12 to get the Liming correct.

13 Q. Is there significance on page 66 at line 13 when
14 he talks about his tongue is swelling up?

15 A, No. I was just trying to get the chronology of
16 these things since he was confused about time.

17 I was trying to get the chronology so I
18 could identify what sequence these things
19 happened in so I could answer the questions
20 fairly intelligently.

21 Q. As an endodontist treating a patient who
22 complained of his tongue swelling up --

23 A. I think there's no question that happened on day
24 two, Dr. Kerwinsky says that. I don't think
25 that's up for debate.

1 Q. Is it a significant finding as a treating
2 physician?

3 A. Certainly when that happens, which Dr. Kerwinsky
4 has in here, that's an indication that we are
5 probably dealing with infection and he should be
6 placed on an antibiotic which he was, on the
7 16th. The record indicates he was on the 16th.
8 Yes, if he has swelling under the tongue my
9 answer is yes, that's significant

10 Q. Why is that a significant complication?

11 A. I think at that point we believe we are now
12 dealing with an infectious process and he should
13 receive an antibiotic. The records indicate
14 that Dr. Kerwinsky gave him erythromycin on
15 5/16, which was day two.

16 Q. That's the first time he gave him any
17 antibiotic?

18 A. According to the record, yes.

19 Q. What's your notation up here?

20 A. This says a record shows this was on third day.

21 Q. I'm sorry, hold on just a second. Go ahead,
22 doctor.

23 A. Mr. Axelrod, we are reading here, says that he
24 would have had a 1:00 visit on Wednesday. But in
25 fact he was confused as to the day. It appears

1 that that was Thursday. The third day rather
2 than the second day.

3 Q. You are just focusing on the facts and times?

4 A. I'm trying to get chronology together that's
5 what most of this underlining is.

6 Q. Is it your position it wasn't necessary for Mr.
7 Axelrod to see a physician by the 17th?

8 A. No, I would say normally that would not be done.

9 Q. It was not necessary for him to see a physician?

10 A. Well, he chose to go there. I'm not convinced I
11 don't know.

12 As the case ran its course, we don't know.
13 I would be willing to say that I think he could
14 have avoided the hospitalization. It was at
15 least possible, but we will never know.

16 Dr. Krell felt he could have avoided the
17 hospitalization.

18 Q. But I think your basis for avoiding the
19 hospitalization, if I understand it, is
20 different. Your position is he could have
21 avoided the hospitalization, if what?

22 A. If he had not elected to go there on the 17th.
23 In other words he --

24 Q. If he had continued on the antibiotic?

25 A. If he continued the antibiotic and returned for

1 this 1:00 visit, then I believe that there was a
2 good chance he would have avoided the
3 hospitalization.

4 Q. You believe he would have avoided the I&D
5 procedure?

6 A. That I'm not sure of at this point. He may have
7 had the I&D done as an outpatient. That's a
8 possibility again. You are asking me to predict
9 the future.

10 All I know is that there were at least
11 three professional who saw him who didn't feel
12 the I&D was necessary. The only person who's
13 saying it is, is an expert who never saw him.

14 Q. Who are the three professionals?

15 A. Dr. Kerwinsky, Dr. Katz and Dr. Flores who was
16 at St. Luke's, did not elect to do incision and
17 drainage till the 21st. So I, from that, I can
18 only assume, and the record I think reflects
19 that Dr. Flores also agrees with us that it was
20 inappropriate to do an incision and drainage at
21 an earlier date.

22 MR. CLEMENTS: Excuse me, I have an
23 appointment at 5:30 I have to attend to.

24 MR. SMITH: Doctor, do you need a
25 break?

1 THE WITNESS: No, I'm fine,
2 thank you.

3 Q. Excuse me, while you talked about the fact that
4 Dr. Flores waited some few days to do the I&D
5 procedure in the hospital --

6 A. Correct.

7 Q. -- and you attributed that to the fact that he
8 must not have felt it was necessary when
9 Mr. Axelrod first came in?

10 A. Yes.

19 Q. Is it possible that, this is the first time Dr.
12 Flores had ever set eyes on Mr. Axelrod and as a
13 new patient in the hospital he wanted to become
14 familiarized with Mr. Axelrod's condition and
15 his medical management?

16 A. I would think that would not be a reasonable
17 explanation for the delay.

18 Q. You don't think so?

19 A. No. I think a surgeon, whoever he may be in the
20 hospital, if you have an appendicitis and he's
21 never seen you before, he's operating twenty
22 minutes after you get there. He doesn't wait to
23 get to know you, if it's needed he would have
24 done the treatment.

25 Q. In this case it was eventually needed and

1 antibiotic --

2 A. Eventually it was done.

3 Q. But you don't believe it was necessary?

4 A. I question it, I questioned it because of
5 Dr. Flores' notes. He indicates it was
6 resolving, It just wasn't going fast enough for
7 him. Incision and drainage was done, according
8 to the record, as I read it because Dr. Flores
9 was interested in having it resolved faster than
10 it was. His notations are it was getting better
11 on the 21st.

12 Q. Is it your position that Dr. Flores' treatment
13 in performing the I&D was below the standard of
14 care?

15 A. No. I think it's certainly one of the
16 professional choices that he has to make. I
17 certainly would not say it's below the standard
18 of care. He may have been considering that it
19 would give Mr. Axelrod the opportunity to leave
20 the hospital at a quicker pace since he was
21 already admitted and they weren't going to let
22 him go until it resolved until a certain point,
23 so I think that's certainly within the realm of
24 the standard of care. I don't think there's
25 only one absolute treatment that would be within

1 the standard of care.

2 I wouldn't criticize him at all. It's only
3 a question in my mind, he's the only one that
4 could answer why he elected to do the incision
5 and drainage at that date.

6 Q. There are a couple of other depositions.

7 A. That could be.

8 Q. Oh, that's it.

9 A. Are you through with this one?

10 Q. Yes.

11 A. It's here anyway if you need it.

12 Q. I'm almost finished, doctor.

13 I found a notation that you made on Dr.
14 Krell's deposition at page twenty, line twelve,
15 do you disagree with his statement about canals
16 on anterior teeth?

17 A. Yes.

18 Q. Tell me why.

19 A. Well, very rarely is a -- he says very rarely
20 will you find more than one canal in an anterior
21 tooth and it continues on. That's not a true
22 statement.

23 Q. It's not unusual to find multiple canals?

24 A. Is 40 percent: unusual?

25 Q. That's based on your clinical experience?

1 A. Based on clinical experience and we can pick up
2 the textbook there that Mark just put away.

3 MR. SMITH: Not mine.

4 A. Lower anterior teeth 35, 40 percent of teeth
5 have canals, very common. It relates to the
6 difficulty question you asked earlier regarding,
7 are back teeth necessarily more difficult than
8 the front teeth, the answer I said is not
9 bigger.

10 Q. The proximity to the submandibular cavity is not
11 significant either to the molars as opposed to
12 the anterior teeth?

13 A. No.

14 Q. With regard to infection?

15 A. With regard to possible infectious process, yes.

16 Q. Yes what?

17 A. The process is different in each area of the
18 mouth.

19 This type of a problem that Mr. Axelrod had
20 could not have developed from a -- would almost
21 never happen from a lower anterior tooth. It
22 wouldn't happen from an upper tooth, so, yes, it
23 makes a little difference. It's not a
24 determining factor in whether or not root canal
25 treatment should be done by the general dentist.

1

2 Q. It's not a determining factor?

3 A. Yes, it makes a little bit of difference,

4 Q. There's a notation here on page 34 and 35 in Dr.
5 Krell's deposition regarding the organisms of
6 infection.

7 A. Uhm-hum.

8 Q. Okay.

9 A. Well, I would disagree, he and I if we were
10 sitting here together, would understand what he
11 was saying. I take exception to the way it was
12 produced here for nonprofessionals, in that he
13 states there was no pus. That is correct. This
14 was not a subrogated pus-producing infection,
15 that's correct.16 Then he says there's pus producing
17 organisms and organisms that do riot produce
18 pus.19 I would take great exception with that
20 sentence. Pus is white blood cells and serous
21 fluids, and there are no bacteria organisms that
22 produce that. There are some organisms in
23 response to which the body produces pus more
24 readily than others.

25 But the organisms themselves do not produce

1 pus. So I was taking exception on a scientific
2 basis.

3 Q. On the technicality of his speech?

4 A. I didn't want anyone to misinterpret what that
5 meant.

6 Q. The same with this?

7 A. Well, the problem here, in this section, it
8 starts on the previous page.

9 Q. Right. Page 35?

10 A. He is talking about anaerobic bacteria which are
11 bacteria that live in an environment where
12 there's no oxygen.

13 And then on the next page he indicates that
14 opening these fascial spaces and venting the
15 area -- which he's talking about the process of
16 doing the incision -- you get oxygenation and
17 that solves the problem. And it's an
18 interesting theory, in fact, one I've never
19 heard of before. So I'm taking exception to
20 that

21 First of all, for two reasons, one, that's
22 not the reason we do incision and drainage.

23 And secondly, I think it's a moot question,
24 we shouldn't waste a lot of time, because
25 medical records say there were no anaerobic

1 bacteria found in the lesion. So we are
2 talking --

3 Q. What's the reason we do incision and drainage?

4 A. The reason we do incision and drainage, in most
5 cases in non-Ludwig's, non, true Ludwig's, is to
6 remove the purulent material, remove irritating
7 materials. Establish drainage. It's called
8 incision and drainage and we want to get
9 drainage, get that nasty stuff out of there.
10 And a true Ludwig's case it's done and in this
11 case the main help, it may happen from an
12 incision, is to relieve tension on the tissues.

13 In a true Ludwig's case, if you would find
14 a picture, if you could find a picture, there's
15 some in the textbooks, but it's so rare it's
16 going to be from 1940, they end up making a very
17 large incision across the whole Lower jaw from
18 side to side and the reason is not to let air
19 in, the reason is to prevent choking.

20 Q. Because of the swelling of the tongue?

21 A. Because of the swelling. Before antibiotics,
22 Ludwig's angina was almost invariably fatal, it
23 strangled patients. Their airway was
24 compromised.

25 Clearly not the case here, all the medical

1 records state very clearly that Mr. Axelrod's
2 airway was not compromised at all..

3 Q. But you are not suggesting that- as a layperson,
4 Mr, Axelrod feeling that his tongue was
5 swelling, and that the whole side of his cheek
6 was swelling --

7 A. No. I have no problem with Mr. Axelrod at all.

8 Q. You seem to have a problem with him seeking
9 medical treatment on the 17th.

10 A. No, I have no problem with that. But what I'm
11 saying is that if he had not sought medical care
12 then I think the problem would have been
13 resolved by the dental route. It was a
14 situation and Mr. Axelrod was uncomfortable with
15 the situation and if the patient is
16 uncomfortable I have no problem with him seeking
17 medical care,

18 Q. It's understandable why someone would feel
19 uncomfortable, isn't it?

20 A. I have no problem with that, absolutely. I'm
21 saying the tendency to try to blame that on
22 Dr. Kerwinsky, that's where I'm trying to draw
23 the line,

24 Q. You disagree with Dr. Krell on page 40 of his
25 deposition that this was the type of root canal

1 that Dr. Kerwinsky was incapable of?

2 A. I think it was certainly a case that was well
3 within his capabilities. I think the final
4 product of the actual technical root canal
5 treatment bears it out, that he did a beautiful
6 job notwithstanding the infection. But the root
7 canal procedure, he did an excellent job. Did
8 an excellent job.

9 Q. The tooth itself?

10 A. Yes.

11 Q. I think Dr. Krell doesn't disagree with you on
12 that.

13 With reference to Krell's statement on 45,
14 we discussed that already you believe that --

15 A. I believe -- well, yeah, I feel it's a rather
16 egotistical or arrogant statement. I mean, you
17 know, he's the only one who can do it?

18 MS. TRICARICHI: Mark, I don't mean
19 to be rude, but off the record.

20 - - -

21 (Off the record.)

22 - - - -

23 Q. Dr. Krell talks at page 65 about the leakage on
24 the tooth which he talks about in conjunction
25 with the infection.

1 A. Yes, he did and I underlined that because
2 earlier in the testimony in his deposition he
3 said what I thought was exact opposite of that.

4 I don't know if I can find that quickly for
5 you.

6 Q. Could you explain what he meant, what you
7 understood what he meant by leaking and whether
8 that has any significance at all?

9 A. I think that he was attempting to find a source
10 of the problem of infection.

11 Virtually the source of all infections are
12 the oral cavity, to some degree, and so in this
13 case, he's making a criticism saying that he
14 thought this was the situation.

15 But it's the exact opposite of what he says
16 in his deposition in which he says, I've
17 concluded the tooth was sealed and by that I
18 mean there was a water-type cement placed inside
19 the tooth so there was no leakage of fluid into
20 the center of the tooth.

21 Q. That's after the first visit, isn't it?

22 A. So yes, certainly we are talking about the same
23 thing. I don't think the restoration got
24 changed, the restoration in the x-rays looked
25 the same. So I didn't see that, at any rate.

1 Q. The leakage Lhat you are talking about would
2 have allowed bacteria to develop is that the
3 theory?

4 A. I think that's what he is saying there.

5 Q. Doctor, in your report dated August 14th, 1992
6 at C, you talk about the consultation and
7 referral was clone in a timely manner. I believe
8 you're referring to Kerwinsky's referral to
9 Katz?

10 A. Dr. Katz, correct.

11 Q. Can you explain your statement here with the
12 additional agreement that a medical opinion was
13 advisable?

14 A, I was basing that upon the record. that Dr.
15 Kerwinsky had, I believe, in which on 5/17 he
16 has written in his record, sent Mr. Axelrod over
17 to Dr. Katz.

18 Q. That's the best copy?

19 A. It says something, I'm not certain of what the
20 next word is, maybe agree to check and he says
21 he will see his internist.

22 Q. So in that instance you thought it was prudent
23 for --

24 A. Well, I'm just stating in the iccord what it
25 was. I said in my note that the consultation

1 and referral. was done in a timely manner with
2 the additional agreement because, certainly, I
3 would never tell a patient, such as Mr. Axelrod,
4 who had some concern -- you asked me about his
5 throat, who had some concern, I would never say
6 no, you can't see your physician. I would say
7 fine, see him and get his assurance. Instead,
8 he went to the emergency room.

9 When you go to the emergency room, as I
10 told Mr. Smith, when you are a hammer everything
11 looks like a nail. If you come to the emergency
12 room, you are going to get treatment and maybe
13 put in. That's fine. I don't object.

14 Q. It can be a release in an emergency room?

15 A. Sometimes, but with this situation, no.

16 When you come in with a substantial
17 swelling, now they are worried about being on
18 the opposite side of the table from you and they
19 are going to keep him.

20 That's the way it works. You know, if you
21 have a cut or something, that may be different.
22 But I have no real problem with Mr. Axelrod
23 seeking medical care, if it made him feel
24 better.

25 Was it absolutely necessary? That's where

1 we are going to have a disagreement.

2 Q. Your position is it was not or you don't know?

3 A. My position is that it's almost certainly it was
4 not. But I'm in agreement with your expert, he
5 doesn't feel hospitalization was unavoidable. He
6 has testified that he thinks that treatment was
7 avoidable.

8 Q. We can quibble about that one.

9 A. I think he states that very clearly. All we
10 quibble about is timing.

11 MS, TRICARICHI: I don't have any
12 further questions. Doctor, you know the
13 schtick.

14 THE WITNESS: I think she's done a
15 great job and I'll waive signature.

16 (Signature waived,)

17

18

19

20

21

22

23

24


25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Lynn A. Konitsky, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named CHARLES KREBS, D.D.S. Was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and the reading and signing of the deposition was expressly waived by the witness and by stipulation of counsel; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulation of counsel; and that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney, or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this 18th day of OCTOBER 1993. A.D.



Lynn A. Konitsky, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires February 8, 1995