

Doc. 255

STATE OF OHIO)
) SS:
MEDINA COUNTY)

IN THE COURT OF COMMON PLEAS

CASE NO. 54717

DENNIS A. BAUGHMAN,

PLAINTIFF,

VS.

RAYMOND C. PIERCE,

DEFENDANT.

)
)
)
)
)
)
)

VIDEOTAPE DEPOSITION

OF

DR. JENIFER KRIEGLER

JUDGE

VIDEOTAPE DEPOSITION taken before Tim Palcho, a
Notary Public within and for the State of Ohio, pursuant to
Notice and as taken on May 14, 1993 at the office of
Dr. Jenifer Kriegler, University Hospitals of Cleveland, 2074
Abbington Road, Cleveland, Ohio. Said deposition taken of
Dr. Kriegler is to be used as evidence on behalf of the Plaintiff
in the aforesaid cause of action, pending in the Court of Common
Pleas, within and for the County of Medina, for the State of
Ohio.

APPEARANCES :

MR. MICHAEL KUBE,

On Behalf of the Plaintiff,

MR. GEORGE LUTJEN,

On Behalf of the Defendant,

1

OPERATOR: We're on the record.

2

Doctor, raise your right hand please.

3

Do you swear the testimony you are

4

about to give to be the truth, the

5

whole truth and nothing but the truth,

6

so help you God.

7

DR. KRIEGLER: Yes it is.

8

MR. KUBE: Let the record show that

9

the deposition of Dr. Kriegler is

10

being taken for use in the trial of

11

the case of Dennis Baughman versus

12

Raymond Pierce in the Medina County

13

Common Pleas Court. That it has been

14

done pursuant to all of the required

15

formalities as far as Notice are

16

concerned, I believe. But just in the

17

event that I missed one there is a

18

waiver of any of those

19

MR. LUTJEN: We waive anything...

20

Michael.

21

DR. KRIEGLER: The battery was flash-

22

ing. The red light.

23

OPERATOR: Yeah, that means it went

24

off, it's on now.

25

MR. KUBE: I'm going to start.

1 OPERATOR: Stand by, We're on the
2 record.

3 DURING DIRECT EXAMINATION BY MR. MICHAEL KUBE:

4 Q Doctor, for the members of the jury who will be
5 seeing and hearing your testimony in this case would you
6 please introduce yourself and give us the address at which
7 we are taking your testimony please,

8 A Jenifer Kriegler. And this is University
9 Hospitals of Cleveland, 2074 Abbington Road, Cleveland,
10 Ohio.

11 Q And your occupation is a physician, is it?

12 A Yes.

13 Q When were you licensed to practice medicine in
14 the State of Ohio?

15 A 1981.

16 Q Can you tell us just a little bit about your
17 medical training? That is, where you went to undergraduate
18 first, and then medical school and then the required
19 education you've had after that.

20 A I graduated from Skidmore College in Saratoga
21 Springs, New York in 1972. I graduated then from the
22 State University of New York at Buffalo School of Medicine
23 in 1976. I did an internship at Newark Fillmore Hospital
24 in Buffalo, New York in the year 1976 to 77. Following
25 that I did a neurology residency at Case Western Reserve

1 University here at University Hospitals. And in the year
2 1980, was chief resident in neurology. From 1980 to 81,
3 I did a fellowship in the Department of Neuroanatomy at
4 Case Western Reserve University. And in 1981, I came on
5 the staff at University Hospitals and the faculty at Case
6 Western Reserve University,

7 Q Now you used the term repeated, "neurology", are
8 you a neurologist?

9 A Yes I am.

10 Q And what particular field or area of medicine
11 does the field of neurology and does a neurologist deal
12 with?

13 A The brain, the nerves, the spinal cord, and every-
14 thing that they innervate, including muscles.

15 Q And by innervate, you mean make work?

16 A Right.

17 Q Now, what is your current position here at
18 University Hospitals?

19 A I am an associate professor of neurology at Case
20 Western Reserve University. I'm the director of the Pain
21 Center and the neurology residency program director here
22 at University Hospitals.

23 Q Now, as an instructor at the medical school...

24 ...Mmm-hum. ..

25 ...do you teach people who want to become doctors?

1 A Yes.

2 Q And what courses do you teach?

3 A I teach neurophysiology, neurology, neuroanatomy.

4 Q And then you mentioned you were the director of
5 the Pain Center here at University Hospitals?

6 A Yes.

7 Q Give us an idea, for those people on the jury,
8 because we're trying this case in Medina and they may not
9 be familiar with University Hospitals...about University
10 Hospitals size a little bit. Can you tell me...how many
11 bed facility is this place?

12 A A nine-hundred and fifty bed facility.

13 Q Okay. And they have a specific department known
14 as the Pain Center here?

15 A Yes. The Pain Center is within the Department of
16 Neurology.

17 Q How many...how long has the Pain Center, that you
18 are the director of, been in existence?

19 A I developed the Pain Center in 1983, when it was
20 first opened.

21 Q So its been about ten years now..

22 A Correct.

23 Q Do you have any idea or approximation how many
24 patients you've had an opportunity to see as Director of
25 the Pain Center over those ten year period?

1 A Thousands.

2 Q What medical services are available and given to
3 people here at the Pain Center Department?

4 A Okay. People who are referred to the Pain Center
5 have a chronic pain problem. It is pain that persists
6 longer than three to six months despite what appears to
7 be appropriate medical treatment. And they are referred
8 here for management of their problem.

9 Q So, I take it from that answer, you had occasion
10 to see patients that had been treating with other physicians
11 for a period of time. And have not, despite that treatment,
12 had relief of their problems or their pain?

13 A That is correct.

14 Q And did Denny Baughman fit into that category, so
15 to speak?

16 A Yes he did,

17 Q Why do you think that is, that you have patients
18 that come here because they haven't been able to get
19 relief despite treatment by other, presumably competent,
20 physicians?

21 A There are many reasons for that. First and fore-
22 most, just because you fix something, even if you do a
23 surgery on something that's apparent...doesn't necessarily
24 mean that the pain that it produces is going to go away,
25 You may correct the structural abnormality, but it may not

1 correct the underlying pain that it causes. And that can.,.

2 10:50:08 - MR. LUTJEN: Excuse me
3 doctor, I just...the Judge will decide
4 that. I object to the general ques-
5 tion. I wasn't going to, but now were
6 into surgery, and this case we're
7 dealing with what the doctor calls a
8 whiplash injury in the reports. I
9 think it's prejudicial to my client
10 to be talking about surgery at this
11 stage. This is not...

12 ...I wasn't talking about surgery in regard to your...to
13 this individual, I was just talking about.,

14 ...I appreciate that.,

15 ...generic...

16 ...I understand that, but...

17 ...things...

18 ...sometimes that flops over a little
19 bit...

20 ...Okay...

21 ...The Judge will tell us...

22 Q Why don't you finish your answer and then I'm
23 going to ask you another more specific question.

24 A There are many causes of pain. And there are
25 things that can be fixed surgically, that can be fixed

1 medically. The pain may or may not improve despite the
2 improvement in other parts of someone's disability. They
3 may have a foot-drop for example, and the foot-drop gets
4 better, but the pain in the foot doesn't go away. And
5 there are many different mechanisms for pain, **it** is a very
6 complicated neurophysiologic process. And because a lot of
7 **it** is not quite understood, we cannot tell you why **it** is
8 that some people do well and some people don't do well.
9 But **it** is clear that abnormality in the pain system per-
10 sists despite what appears to be appropriate and adequate
11 treatment.

12 Q Now, we know, because we have Dennis Baughman's
13 medical records, all of us, both myself and the other
14 attorney and I know you have **it**. That Dennis Baughman
15 didn't have to have any surgery. That he didn't break any
16 bones. That he had injuries to the soft tissue parts of
17 his body. Why is **it** that after treatment, such as therapy
18 and some other things, that probably Dennis had, he still
19 has pain?

20 A I don't think anybody can give you a specific
21 reason. Pain is produced in a variety of ways. **It** is
22 perceived in a variety of ways by us in a neurophysiologic
23 way. In other words, when we sense pain, we sense pain
24 because of certain pathways that bring that abnormal
25 sensation to our brain. We can impact on how we relate to

1 the pain in a variety of ways, either with medicine or with
2 therapy or even with psychological techniques. And that
3 can allow us to either cope with the pain or not cope with
4 it depending upon a certain set of circumstances. I will
5 give you an example of somebody, and it's a very common
6 example, of somebody who's injured in a battle. And they're
7 fighting and they may have their arm shot off, but yet
8 they continue to fight and not even be aware that they've
9 been terribly injured until it's all over with. And then
10 they stop and realize what has happened, and that's when
11 their pain begins. **So** we have a remarkable ability to be
12 able to use a variety of pathways in our body to cope with
13 pain. In Denny's case, he had a whiplash injury. He had..
14 and that is an acute extension/flexion injury of the spine,
15 usually at the neck, but it can involve the low back. And
16 what happens is that muscle fibers are pulled and torn.
17 There's lots **of** soft tissue damage and swelling. And as
18 that repairs itself, those muscle fibers scar-down the way
19 anything else scars. They don't heal themselves quite
20 normally. And they don't...the muscle then doesn't work
21 normally. It develops what are called trigger areas where
22 there is focal areas of muscle spasm. That also can en-
23 trap nerves as it comes through and supplies the muscle and
24 the skin. And that can cause pain which radiates. **So**
25 there are a whole variety of reasons why pain will develop

1 after an injury like Dennis had.

2 Q Let's talk specifically about Dennis. And I see
3 you have your notes in front of you. Can you tell the
4 members of the jury please, when you first had the opportu-
5 nity to see Dennis Baughman?

6 A On November 26, 1990.

7 Q And when you first saw him, I assume you did what
8 most doctors do and asked him to tell you about his
9 problems, and what happened to him and when his problems
10 began. I guess you call it a history, Did you take one
11 of those?

12 A Yes I did. And I will tell you that I saw Dennis
13 initially with my fellow at the time, who is Marlene
14 Bednar, who is no longer here.

15 Q And a fellow, that's a medical student or...
16 already a doctor.

17 A Actually she is somebody who completed a neurology
18 residency and was doing an additional year of training in
19 pain management. So she spent a year. She was already...
20 was licensed to practice medicine. She had completed her
21 neurology training and was board eligible in neurology.
22 And she was spending additional time learning about pain
23 problems.

24 Q How you treat them...you primarily.

25 A That's correct.

1 Q All right. So go ahead then you saw Dennis for
2 the first time on November 26, 1990.

3 A Mmm-hum.

4 Q What history did you take of significance at that
5 time?

6 A Okay. He, at the time, was 31-years-old. He
7 stated that he was injured in a motor vehicle accident in
8 March 1989. He said that while driving his motor vehicle
9 he was struck from the rear at about 60-miles-an-hour by
10 another motor vehicle. And subsequently his car was pushed
11 into a semi-tractor trailer rig head-on. He recalled his
12 neck snapping forward and backwards and being thrown
13 against the side of the car, but he did not lose conscious-
14 ness. He was taken to the local emergency room where he
15 received x-rays showing no fractures of dislocations. He
16 was diagnosed with a whiplash injury and had multiple
17 strains and sprains involving his neck and low back.

18 Q Now, just let me stop you a minute. Is that the
19 type of injury that you've been...you told us about just
20 a little bit ago, about when the soft tissues are torn...

21 ...Right...
22 and then they heal with scar?

23 A Right.

24 Q Okay, Go ahead.

25 A Ahh...

1 ...I'm sorry to interrupt you.

2 ...Okay. That's okay, I'm just looking to see where I was
3 He said since the accident he developed severe persistent
4 constant aching pain alternating with stabbing right
5 shoulder pain. The pain would increase with movement,
6 especially rotational movements. He works as a janitor
7 and found himself unable to tolerate activity required
8 for work because of his shoulder pain. His pain did not
9 improve since the time of the accident. He would also get
10 bilateral, what we call, occipital or back of the head
11 headaches associated with pressure sensation. The head-
12 aches would last all day long. And he relates the head-
13 aches to the onset when he had his whiplash injury. He
14 also discussed with us bilateral hip pain, which would
15 increase in activity...with active movement. There was
16 tightness and tenderness over the mid to low back,
17 especially with twisting movements at the waist. He
18 reported that his lower extremities would give out on him
19 because of the pain. It would oftentimes radiate from the
20 low back into both hips down the back of both legs and
21 into the calves. He stated that because of the problem he
22 had been going to physical therapy and he received heat and
23 ultrasound in therapy. And then he also saw a chiropractor
24 none of which seemed to help.

25 Q Let me stop you there doctor. I'm going to show

1 you what will be introduced in the trial of this matter.
2 And they are the hospital record from Lodi Community
3 Hospital which relate to his initial emergency room treat-
4 ment. A subsequent visit about a week after his accident,
5 just for further x-rays. And then two periods of time which
6 relate to the physical therapy which you just mentioned
7 that he had received. I just want you to take a brief
8 look through them so you're completely familiar with them
9 for purposes of my questions. Can you get us off?

10 OPERATOR: We're off the record.

11 OPERATOR: We're on the record.

12 ...Okay doctor, you've had an opportunity for a brief
13 period of time off the record to look at what I've had
14 marked as Exhibit A, B, C, and D, which relate to the Lodi
15 Hospital records that I made reference to. I just have
16 a couple of things, I want to make sure these are clear.
17 You...it's indicated on the initial emergency room report
18 that the diagnosis is myofascial strain. And then...well
19 let me limit it to that right now.

20 A Okay.

21 Q How does that term, Myofascial strain, compare
22 with what you were talking about earlier relative to
23 Dennis' injuries? Is it the same thing?

24 A That's the same thing, compatible with it.

25 Q Okay. And then, obviously, you've seen briefly

1 that the very therapy records which relate to the prior
2 therapy reference to which you made in your testimony.

3 A Right.

4 Q Okay. Now, were you aware also that Dennis had
5 received various diagnostic studies, including an MRI of
6 the cervical spine, an EMG study, a right shoulder arthro-
7 gram, and an EMG study. Were you aware of all of that?

8 A Yes.

9 Q He told you that?

10 A He told me that.

11 Q And were you aware of what the results of those
12 studies were?

13 a Right. They apparently were all normal except the
14 EMG showed a right carpal tunnel syndrome,

15 Q Okay. Why would it be that those studies would
16 be normal and yet you relate that Dennis had suffered some
17 sort of injury to the neck and back and shoulder area of
18 his body?

19 A Because these studies are all looking at the bones
20 and the joints, they're not looking at the soft tissue.

21 Q Okay. You mention in here, I'm just looking at
22 the same report that you are because we...both attorneys
23 have a copy of it. That he had received a Xylocaine
24 injection in his right shoulder.

25 A Mmm-hum.

1 Q I want to tell you that Dennis has told us and
2 told Mr. Lutjen that that Xylocaine injection was performed
3 by a Dr. Brims. And then he was asked to do a push-up and
4 he did it. And he subsequently didn't go back to Dr. Brims
5 because he got the impression they didn't believe he was
6 having any shoulder pain.

7 A Mmm-hum.

8 Q Let me ask you about...are you familiar with that
9 technique of giving him Xylocaine ...

10 ...Sure...

11 ...injection in somebody's shoulder.

12 A Right.

13 Q Why is that done?

14 A Xylocaine is a local anesthetic. It will numb up
15 the joint, so that if you have anything wrong with the
16 joint, then, basically, you're going to block the pain
17 from the joint and you should not have pain. All that that
18 tells us is that there is nothing wrong with the joint.
19 And there is nothing wrong with the attachments of muscles,
20 like the rotator cuff. Those are the things that you can
21 tell from a shoulder injection. It doesn't tell you whether
22 there is anything wrong with the soft tissue.

23 Q Okay. So the fact that the Xylocaine injection
24 was given and he was still able to do a push up does not
25 disprove the fact, if I understand your testimony correctly.

1 that he had injuries to the soft tissue structures in that
2 area?

3 A That's corret. And, in fact, the injection was
4 a diagnostic value because then you don't have to worry
5 that there is a problem with the joint that may change how
6 you're going to deal with the situation.

7 Q Okay. I think I understand you. Now, go on then
8 with anything relevant in the history you took. For
9 instance, did...had he been taking any type of medication
10 which had been prescribed to him...

11 ...Yes...

12 ...for his pain?

13 A He had been taking a variety of medications, some
14 of it what we call the non-steroidal anti-inflammatory
15 drugs, which...like Motrin or Naprosyn. He took some
16 narcotic medication like Talwin, Darvocet, Tylenol #3,
17 Demerol. None of them particularly helped his pain.

18 Q And when he came to you and you saw him in
19 November, did you ask him to tell you in any way about the
20 severity of his pain, and perhaps what aggravated it,
21 what made it better?

22 A Basically with pain problems, since the way I
23 experience pain is different from the way you experience
24 pain. All I'm interested in is how you as an individual
25 experience pain and what kinds of things make it better

1 and worse. And so that I can understand what you're
2 talking about, I will ask you to rate your pain as 0 being
3 none; and 10 being the worst pain that you've ever
4 experienced. And on that scale, give me an idea right now
5 of how much pain you're having. When I saw him he rated
6 his pain as a 6.

7 Q That's exactly at the time he was talking with
8 you?

9 A That's correct.

10 Q November 26, 1990?

11 A That's correct...

12 ...Okay...

13 ...Okay. Then with rest he said the pain would improve.
14 And with activity and exertion it might go up to a 10.
15 So he had a variety of ranges of pain that he personally
16 would experience.

17 Q Now, did you then proceed to conduct a physical
18 examination of him?

19 A Yes.

20 Q Okay. Just briefly, did you, during the course of
21 your physical examination, find anything that supported
22 his complaints of pain in the areas that he said he was
23 experiencing pain in?

24 A Yes.

25 Q What did you find?

1 A He had tenderness over the shoulder, and over the
2 muscles in the shoulder. He had increase, which was...
3 this was a subjective thing, he had some increase in pain
4 when we asked him to actively move his shoulder. In other
5 words, asked him to do **it** as opposed to me taking **it**
6 through what we call range of motion. There was what we
7 **call** crepitus or sort of...it's a crinkling like if you
8 were to **roll** up a ball of paper, you'd hear that noise.
9 Well there was that sort of noise when you would palpate
10 the shoulder and ask to move the shoulder. He had trape-
11 zius, which are these muscles, spasm. And he had pain
12 with the moderate...he has moderate spasm over the
13 shoulders.

14 Q What is...I'm sorry to interrupt you, but you
15 showed that he had spasm and you indicated along the top
16 of his shoulders and the lower part of his neck. What
17 is spasm? What does that mean?

18 A It's an abnormal contraction of a muscle. So
19 that...simply stated, **it**...at rest, this is the easiest
20 muscle to show **it** in.

21 Q Your bicep?

22 A Your Bicep muscle.. .

23 ...Okay...

24 ...Okay. At rest, the muscle is like this. If you make
25 a fist, you contract the muscle...

1 ...Okay...

2 ...Okay. What he did and what's happening in his shoulder
3 is that those muscles are contracted all the time.

4 Q Okay. He's not doing it?

5 A No. It's produced and that is a response to an
6 injury that's pain...that produces pain.

7 Q All right. Is that something you can feel when
8 you put your hands on him?

9 A You can feel it and you can see it...

10 ...Okay...

11 ...Okay, He also had tenderness to palpation over the
12 mid thoracic spine, which is the middle of his back.
13 And at that time there was **no** muscle spasm of those
14 muscles.

15 Q Okay. Now, you had his history and you had the
16 results of your examination and you had information as to
17 his prior test results. With all of that...and then you
18 had your examination. With all of that, did you come to
19 some type of impression or conclusion or, as I think
20 doctors call it, a diagnosis of his physical problem?

21 A Yes.

22 Q And what was that?

23 A Well there were seven initial impressions that he
24 had. A chronic pain syndrome involving his right shoulder.
25 Because of the crepitus, he had probable traumatic arthri-

1 tis nd bursitis. He h d post-traumatic muscular contrac-
2 tion headaches. He had lumbosacral, and that's the low
3 back, muscle strain as well as cervical, which is the neck,
4 and thoracic, which is the mid back, muscular strain.

5 Q Now, doctor, do you have an opinion based upon a
6 reasonable degree of medical certainty as to whether or not
7 those conditions that you just related to us were directly
8 and proximately caused by his automobile accident of
9 March 1989; specifically March 9, 1989?

10 A Yes.

11 Q And what is that opinion?

12 A That they were caused by the accident.

13 Q Did you make further recommendations then, for
14 his further care?

15 A Yes.

16 Q And what recommendations did you make and why?

17 A I felt that at that time he was appropriate to
18 participate in a pain management program from a medical
19 standpoint. I wanted him to see Dr. Ashenberg, who is our
20 psychologist in the pain center, to see if he was psycho-
21 logically appropriate for this type of treatment. We
22 changed around his medications and I started him on two
23 different medicines. One an anti-inflammatory agent, and
24 the other Pamelor, which is an anti-depressant which is
25 used in pain management. It's used in pain management

1 because it increases your body's production of endorphines,
2 which are your own natural pain relieving substance. They
3 have an analgesic affect because they work on the opiate
4 receptors. They help with sleep maintenance and allowing
5 somebody who has chronic pain to sleep because they're
6 sedating without interfering with the normal sleep cycles.
7 And they do have a mild anti-depressant effect which we
8 thought might be helpful. So we wanted him to start on
9 the two medications and to see Dr. Ashenberg to see
10 whether he was psychologically appropriate for this type
11 of treatment. And then we would go from there.

12 Q Okay. Now before I ask you about how he's done
13 during- the course of your treatment and...is he still a
14 patient of yours here?

15 A Yes.

16 Q Okay. Let me tell you that Dennis has related to
17 us, both lawyers in the case, that he has seen physicians
18 before this automobile collision in March of 89, occasionally
19 for back and neck complaints. And I want to relate those
20 to you as I understand them. He had been seen by a
21 Dr. Funk, who's a chiropractor, I think even when he was
22 a sophomore or junior in high school. He was a wrestler
23 and he had some complaints with his neck and right shoulder
24 way back in 76 or 78. And then he had some problems with
25 his back when he was riding an ATV and it turned over.

1 And he went back to see Dr. Funk in 1985. And then he
2 even had some achiness in his low back one morning when he
3 woke up in 1989. And then, of course, you know he had this
4 automobile accident in 19...March of 1989.

5 A Mmm-hum.

6 Q Now, with that understanding and taking, obviously,
7 into consideration the accident and the medical records
8 that I've shown you as well as all the information which
9 you've Obtained from treating him over a 2-1/2 year
10 period. Do you have an opinion based upon a reasonable
11 degree of medical certainty as to whether or not this
12 auto collision of March of 1989, is the cause of his
13 present physical problems?

14 A Yes.

15 Q And what is that opinion?

16 A That ~~it~~ is the cause of ~~it~~.

17 Q Let's talk about your treatment of Dennis here at
18 the Pain Center for the last several years. What then
19 did you proceed to do for him and how has he done as a
20 result of ~~it~~?

21 A Okay. He was initially followed, probably for
22 nine months or so, as an outpatient without entering into
23 a pain management program. And the reason for that is
24 that he was working...he continued to work despite his
25 problem, And ~~it~~ would have been quite difficult for him

1 financially to take the needed time off...it would be three
2 weeks that he would need to participate in a pain manage-
3 ment program. During a pain management program we
4 request, unless there is some really significant other
5 problems, that somebody does not work because they will
6 need to devote their time to the kinds of things that we're
7 going to be teaching them. So it did take him some time
8 to be able to decide that he had to take the time off
9 because, otherwise, he was just not going to do any better.

10 Q And did he ultimately do that?

11 A Yes, he ultimately took the time off and partici-
12 pated in a three week outpatient pain management program.

13 Q And when was that? Do you have a month and a
14 year?

15 A I believe his pain management program was in
16 October of 91. That's when he started.

17 Q And you say that's a three week program. What
18 did...just briefly, give the jury and myself an idea of
19 what that involved.

20 A Okay. At pain management program...we have an
21 interdisciplinary pain management program at University
22 Hospitals.

23 Q What does that mean?

24 A It means that we have people from a variety of
25 medical specialties who see and work with an individual.

1 It is not modality driven. There are many different types
2 of pain centers. There are biofeedback clinics where that's
3 all they do. There are anesthesia pain clinics where they
4 stick somebody with a needle and do blocks on them.

5 Q When you say...I'm sorry to interrupt you. But
6 when you say here it's an interdisciplinary...

7 ...Right...

8 ...what types of physicians are involved other than
9 neurologists, like yourself?

10 A We have psychologists. We have an anesthesiolo-
11 gist who is available to do blocks and things if we need
12 them, We have a physical therapist. An occupational
13 therapist. We have a nurse clinician. And we have
14 physicians from every specialty area that we have in the
15 hospital to see and give input to these individuals. So
16 that we look at somebody's pain problem in its totality
17 and how it affects somebody as an individual. That it does
18 not just...it's not just the pain. And so medicine is only
19 one part of it...

20 ...Okay...

21 ...I mean you give somebody all the medicine in the world
22 you want, they're not going to get better. But that is
23 just one little piece of it. You can teach somebody
24 proper body mechanics and ways of sitting standing, walking,
25 bending, lifting, getting in and out of the car, doing

1 their job. We do an ergonomic evaluation which is how
2 somebody has to move their body and use their body to
3 work. So we look at how...the kinds of things that they
4 need to do in their every day life. We teach them how to
5 do that so that they don't stress the painful area and it
6 doesn't increase their pain. We teach them pain and
7 stress management techniques, biofeedback, relaxation
8 techniques, other types of alternative ways of managing
9 their pain. And we try and get them to a point where their
10 pain is at a reasonable level. This is a pain treatment
11 and pain management program, not a pain cure program. **So,**
12 the premise is that they're not going to come out pain-
13 free. In fact, they're still going to have pain. Func-
14 tion increases far quicker and faster than pain will
15 decrease. And many of these people will never be pain-
16 free. **So** that what we do is we teach somebody, if some-
17 body can rate their pain to me on a scale of 0 to 10. And
18 on a good day can be at a 3. And on a bad day is a 10.
19 That range becomes intolerable to live with. But if you
20 can keep your pain between a 3 and 5 by doing everything
21 correctly, you can actually manage pretty well. So we
22 teach people techniques and ways of keeping their pain at
23 a low level. We may use non-addicting medications. We may
24 use blocks which are helpful to help maintain their pain
25 level at a low level. And then we teach them how to go

1 about and live their life despite the fact that they have
2 pain. It is no different from any other medical problem
3 that somebody might have. It is no different than if
4 somebody develops diabetes and needed to take insulin every
5 day and change their diet and get on an exercise program,
6 lose weight and do all those things. They have a problem,
7 they live with it every day. But it's manageable. And
8 that's what we do with pain.

9 Q And did you do those things during the course of
10 your care of Denny Baughman, including that intensive
11 three week period of time?

12 A Yes.

13 Q You applied those principles to his particular
14 problem.

15 A That is correct.

16 Q And did they help him?

17 A Yes.

18 Q Now, you've seen him periodically since November
19 of 1989.

20 A That's right.

21 Q In order to have us understand then how they
22 helped him, when did you see him last, for example?

23 A The last time I saw Denny was on March 15, 1993.

24 Q And what about the time before that?

25 A The time before that was on September 17, 1992.

1 Q Okay. Both of these visits are obviously after
2 he went through this intensive three week program.

3 A Right.

4 Q And you continued to see him, and you have, right
5 up until the present time?

6 A That's correct.

7 Q Okay. Give us an idea how was he doing when you
8 saw him, let's say, in September of 1992?

9 A At that particular office visit he wasn't doing
10 well, He complained of increased shoulder, arm and hip
11 pain after work. He wasn't able to maintain lower pain
12 levels while...after he was done working. He also
13 complained of increased pain in his hand, The time before
14 that he had some problems which were related to his
15 carpal tunnel injury and I injected his wrist. And he
16 told me that that got better after I injected it. His
17 exam was really not much different from what it had been.
18 And I told him that this was just part and parcel of his
19 medical problems. That people who have what Denny has,
20 and I...I mean you can classify this as a myofascial pain
21 syndrome or fibromyalgia or fibrositis or whatever you
22 want to call it, those are all interchangeable terms. That
23 they are very much...and for patients to understand, I
24 tell them they are very much like people who have arthri-
25 tis. In that they have the problem, it can be quiescent

1 for a period of time...

2 Q Quiescent means?

3 A Quiet...

4 ...Okay...

5 ...it can do quite well for a period of time. And for what
6 ever reason...whether, you know, something that stirred it
7 up either by doing something wrong or whatever. It's
8 sometimes even, at this point, a viral illness where you
9 get a lot of aches and pains and chills can actually make
10 them feel worse for a period of time. It's a pain flare.
11 Eventually it will die back down. And the way to keep it
12 from dying back down is to continue to do everything to
13 contin...especially to continue exercising. The worse
14 thing in the world for somebody like this is to stop
15 exercising, because that will just make them worse. **So,**
16 the old thing about rest is wrong. You want to keep
17 active.

18 Q Had he been on some sort of exercise program?

19 A He has been on an exercise program that he
20 followed on a daily basis.

21 Q Prescribed by you?

22 A Yes.

23 Q Had you prescribed for him, for example, member-
24 ship, and I have a bill that Denny has given me, a member-
25 ship in a fitness center.

1 A Yes.

2 Q Had you prescribed that for him?

3 A Yes.

4 Q And he has an aerodyne bike apparently he bought
5 and uses. Had you prescribed that for him.

6 A Yes, And that's all part of his maintenance
7 program to keep his problem under reasonable control. So
8 I told him he just needed to go back and do those things
9 and he would get better. And **it** might take a week or two
10 or three weeks, but, you know, eventually **it** would settle
11 back down again. And he'd get better and he'd do better.

12 Q And so then you next saw him on March 15, 93,
13 did he get better or did he get worse?

14 A Yeah, he got better actually,..

15 ...Okay ...

16 ...He was doing better with that except he had told me
17 that about five weeks before that he abruptly developed
18 a headache., And he was seen at the internist and they did
19 a CT scan. And he saw an ophthalmologist. And he saw a
20 variety of other doctors and he wasn't getting better.
21 And when I took a history from him **it** was obvious he had
22 developed migraine headaches. And so I gave him an
23 injection of dihydroergotamine which will abort a
24 migrain headache and he got better. And that was the end
25 of that.

1 Q Did the migraine have, in your opinion, did the
2 migraine have anything to do with the auto accident...was
3 it caused by the auto accident?

4 A No. It has nothing to do with the auto accident..
5 ...Okay ...
6 ...It was just an incidental.

7 Q Okay. I'm almost done. I wanted to ask you
8 about something that I kind of passed over as we summarized
9 his treatment. In my records there is a period of time
10 in which...and he may still use it, I don't know. But,
11 it's mentioned...a TENS, T-E-N-S.,

12 ...Right ...
13 ...unit.

14 A Yes.

15 Q Was one of those prescribed for Dennis?

16 A Yeah, early on, one was.

17 Q Tell us what a **TENS** unit is.

18 A It stands for transcutaneous, in other words,
19 through the skin, electrical nerve stimulator. It is
20 used in a variety of painful conditions to help alleviate
21 pain.

22 Q What does it do? What is it? Describe it for us.

23 A Basically...okay. Basically there are four little
24 electrodes that look like EKG electrodes that you put on
25 your skin when you have a cardiogram taken. And there is

1 a little box which looks...it's about the size of my beeper.

2 Q And you wear it on your belt or something?

3 A You wear **it** on your belt. What this does is to
4 put a variety of low-level electrical inputs into the skin.
5 And there is a variety of wave forms, wave lengths and
6 intensities of the signal that's inputted, that's trans-
7 mitted through the skin, through the nerves and into the
8 spinal cord and up to the brain. And **it** blocks out...
9 basically blocks out pain. What tends to happen is that
10 there are different types of nerves in your body. There
11 are what are called the larger motor nerves which don't
12 really transmit pain fibers. And then there are the pain-
13 ful fibers which...the small fibers...which transmit pain
14 predominantly. When somebody has pain, and there's normally
15 a nice balance if you think of a scale. When somebody has
16 a lot of pain those smaller fibers which transmit pain
17 really start letting off and **it** tips the scale...okay,
18 in their favor. What you do is you put in another artifi-
19 cial stimulus and you stimulate the other nerve so that **it**
20 re-establishes...

21 Balances it out...

22 ...that balance. The other theory behind why **it** works
23 is **it** increases your body's production of endorphines,
24 which are your own nature pain relieving substance.

25 Q At any rate, the TENS unit was prescribed for

1 Dennis and apparently he was using **it**.

2 A He was using **it**, and **it** helped to some degree.

3 Q Okay. I didn't want to miss that because I know
4 **it** might come up. Let me ask you just a couple more
5 questions about Dennis' current condition. And, perhaps,
6 what you can tell us, if anything, about what the future
7 might hold in store for him. Presently now, what affect,
8 if any, has his injuries that he got in this car wreck,
9 what affect did they have on him?

10 A Oh, I mean a total affect. First of all, he has
11 pain all the time. That pain affects his ability to
12 interact with his wife, with his friends. To do all the
13 kinds of things that he likes to do for leasure time
14 activities. **It** affects his ability to work and to main-
15 tain his job, so that **it** has a complete affect on his
16 life. That's part of why a pain management program is so
17 necessary. Because, you know, you can have pain and some
18 people **it** just doesn't...they deal with **it** and they go
19 on and it's unclear why they can do that. There are other
20 people who develop a pain syndrome which is what Denny
21 developed. And that impacts on every aspect of his life.
22 On your social, your vocational aspects, your marital
23 relationships, on your ability to work. And so **it** is
24 something that is quite devastating and can have impacted
25 on him greatly, and will continue to impact upon him.

1 Q And just so I make sure I understand. Is this
2 something that's just in his head, or is there a physical
3 basis for his pain?

4 A Oh, there's a physical basis for his pain, of
5 course there is.

6 Q What is it?

7 A He has a myofascial pain syndrome. He has
8 injury to the soft tissues and to the muscles.

9 Q Now, as far as the future is concerned, to a
10 reasonable degree of medical certainty, will he continue
11 to experience problems into the future as a result of the
12 injuries which he suffered in this auto crash of March 9,
13 1989? In your opinion.

14 A Yes.

15 Q And what problems will he, in all probability,
16 suffer in the future?

17 A He will have intermittent Pain and flares of his
18 pain. He will have intermittent periods where he may not
19 be able to work for a period of time because of that pain.

20 Q Will he need medication in the future?

21 A I'm hoping that in the future his medication
22 use will be intermittent as opposed to continuous, which
23 it is now,

24 Q He's still on medication?

25 A He is still on medication now.

1 Q What is he taking?

2 A He is still on...let's see...he's on Anaprox,
3 which is an anti-inflammatory agent. And Pamelor, which
4 is an anti-depressant used for pain management...

5 ...Okay...

6 ...Both of which are expensive medications and he is on
7 them **on** a regular basis.

8 Q You mentioned in the past this therapy program,
9 Will he need to continue with that type of thing into the
10 future indefinitely?

11 A Yes. This is the condition that gets worse if
12 you do not exercise and you do not do specific exercises
13 for those areas.

14 Q **So**, for instance, what therapy programs do you
15 have him on, just in a general way?

16 A He is...in a general way, he is on an aerobic
17 program which means that he rides a bike or, you know,
18 uses a treadmill. There are a whole host of aerobic
19 exercises which are exercises made to get your heart rate
20 up, keep it up. And what those kinds of exercises do is
21 they release endorphines which are your natural pain
22 relieving substances. He's on a stretching program where
23 he keeps his muscles stretched. And he's on active
24 resistive weight machines to strengthen those muscles as
25 well.

1 Doctor, I want to thank you for taking the time
2 with us, some 45 minutes now, to tell us about Denny
3 Baughman. I have no further questions for you. And perhaps
4 Mr. Lutjen has a few.

5 DURING CROSS EXAMINATION BY MR. GEORGE LUTJEN:

6 Q Hi doctor.

7 A Hi.

8 Q George Lutjen, I represent Mr. Pierce against
9 whom Mr. Baughman has filed a lawsuit. When he first came
10 to you doctor, did he tell you he had been involved in a
11 lawsuit seeking money for this accident?

12 A Yes, I did ask him that.

13 Q Okay. Is it anywhere in your reports at all that.

14 A I don't think it's in my report, I typically
15 don't put that in. It might be in Dr. Ashtenberg's...Oh
16 yeah, it's in Dr. Ashtenberg's report.

17 Q All right. Do you find a difference between a
18 pure patient and a patient litigant?

19 A I don't think I understand your question.

20 Q Well someone who comes without a lawsuit for
21 help. And someone who comes to you knowing there's a law-
22 suit and knowing your testimony is going to be required
23 to have him be successful in securing money?

24 A It depends.

25 Q There is a difference.

1 A It depends, actually. We in the...when we first
2 started the pain center we thought that we...that the
3 literature at that time, and there was a whole body of
4 literature. Said that you shouldn't take people into a
5 pain management program if they're involved in litigation
6 because it will skew their case. Subsequent to that time,
7 the literature has borne out and it has been our experience
8 in the pain center here after treating many many of these
9 patients, that if somebody has litigation pending within
10 the next six months, we won't see them, We tell them we
11 will wait until after their litigation is done to do a
12 pain management program. And the reason for that is
13 several. One, is that I think that they're much to
14 concerned about what's going to happen in their lawsuit
15 and they can't really pay attention to what we're doing.
16 They have significant stresses, and so I think it's
17 inappropriate. Those people, in fact, don't do well.
18 However, as you and I know, that the way the legal system
19 is that if somebody files a lawsuit today, it will
20 probably be seen in court in five years or so.

21 Q Well did you ask him...this lawsuit was scheduled
22 for trial a year ago. And his attorney continued it. Did
23 you ask him that doctor?

24 A When we saw him we asked him if he was in liti-
25 gation and he said, "yes." We told him that we would not

1 treat him if his litigation was going to be completed
2 within six months. So that is a given.

3 Q All right. Doctor, going back to your first
4 report when you answered Mike Kube's first questions you
5 essentially read from your November 26, 1990, report. Is
6 that correct?

7 A Right.

8 Q I notice as I go down, and maybe the jury didn't
9 pick it up, but you first indicate he was diagnosed with
10 a whiplash injury.,.

11 ...Let me get my chart...

12 ...It's November-26, doctor, I think it's the first one.
13 He was diagnosed with a whiplash injury.

14 A Mmm-hum.

15 Q And where did you get that information from?

16 A That is what he told us.

17 Q Okay, Did you communicate with his doctors at
18 all?

19 A Not with the one that treated him here...

20 ...Did you ever...

21 ...Jim Kessler, who referred him I spoke with him on the
22 phone and he sent me his records.

23 Q Okay. That was Dr. Kessler?

24 A Mmm-hum.

25 Q Now, from that point on, the balance of the

I report **it** states: and I'll read them out, "He **relates**."
2 "He refers." "He reports." "He reports."

3 A That's correct.

4 Q So, essentially, what we're saying here is that
5 the information you were getting is purely based upon what
6 he is telling you.

7 A That is correct.

8 Q **And** if anyway there is a deviation from the
9 facts and what he's told you, these are incorrect.

10 A When somebody comes to see me as a physician,
11 I must accept that what they tell me is correct,

12 Q And I as a lawyer, likewise, I understand that,
13 And I didn't mean to suggest you shouldn't. What I'm
14 saying that if, in fact, **it** isn't in any particular cor-
15 rect, **it** skews the diagnosis.

16 A That would distort **it** some...yeah, I think that
17 would distort **it** to some degree,

18 Q Okay. We also, in reviewing this first initial
19 office visit which you did with your fellow. You indicate,
20 and I think Mike Kube brought this out, that there were a
21 whole series of objective tests taken.

22 A Mmm-hum.

23 Q MRI, and CAT scans, an arthrogram, EEGs...

24 ...Right...

25 ...EMGs. Not one of these sophisticated tests showed any

1 physical problem of any kind or nature.

2 A That's correct. Except the EMG, which showed a
3 carpal tunnel.

4 Q Right. That's correct, I'm sorry, that's true,
5 Now, you indicated also that soft tissue problems can't
6 be determined with some of these tests...or all these
7 tests.

8 A That's correct.

9 Q Are **you** suggesting doctor, that an MRI does not
10 show soft tissue problems?

11 A There is no...one does not do an MRI of the
12 muscles, no. It will show, for example, a tumor within a
13 muscle. But it cannot really show abnormalities of the
14 muscle itself.

15 Q Well we're not talking about abnormalities, you're
16 talking about scarring, Have you ever seen anything...
17 have you ever seen an MRI that showed calcification
18 deposits that come from scarring that show up on an MRI?

19 A Calcification is not always part **of** scarring.
20 I mean that is...that can be part of a scar, but is not
21 necessarily so.

22 Q If we were to go to the MRI textbooks.

23 A Mmm-hum.

24 Q Can't we, in many instances, find where MRIs will
25 show scarring if it, in fact, exists?

1 A It will show scarring of nerve roots, but not of
2 muscle.

3 Q Okay. That's positive and clear?

4 A Mmm-hum.

5 Q Okay. Now, we come to page 2 of your report
6 here and I see you've got down here "past medical history."

7 A Mmm-hum.

8 Q And again with the understanding that the history
9 is really the...well, not even the most important thing,
10 probably the only thing you have to begin your diagnosis
11 and treatment.

12 A m-hum.

13 Q Did he tell you about these prior problems that
14 Mr.. Kube told you he had before?

15 A I didn't write it down.

16 Q Bo you have any record of any time that he
17 honestly told you about any prior problems?

18 A I don't remember. No, I don't have it written
19 down, so I can't say yes, or no.

20 Q If you, as a doctor, particularly in a pain
21 clinic where everything so often is subjective. Would you
22 not, in fairness to him and to yourself under past
23 medical history have noted that?

24 A I would have written it down.

25 Q Okay. And it is not written down, clearly.

1 A That's correct.

2 Q And we can assume from the type of practice and
3 diligence you have with your patients that had you been
4 told, you would have written down.

5 A Right.

6 Q Let me show you doctor...

7 MR. KUBE: Excuse me one minute...

8 just before you start on this line of
9 questioning. For the record, these
10 are Dr. Funk's records?

11 ...Aballaro's I believe.

12 ...Aballaro's, Okay.

13 ...They were subpoenaed by medical records.,

14 ...Well okay, just so they're Aballaro's

15 ...Well I believe they are. I do not believe they're...

16 ...Okay...

17 ...We'll resolve that if it's a problem, it's a problem.

18 Doctor, I showed you what, on behalf of Mr. Pierce, my
19 client, the Defendant, we subpoenaed some records. And I
20 refer you to a 5/31/85, notation.

21 A Mmm-hum.

22 Q We have an indication of burning sensations down
23 the back of both legs.

24 A Mmm-hum.

25 Q Is that...am I reading that correct?

1 A That's what **it** says.

2 Q Apparently the patient also indicated his elbow
3 is always sore?

4 A Left elbow is sore, yes...mmm-hum.

5 Q Left elbow is always sore. And what is that,
6 tenderness runs across the neck? Is that the way I read
7 it?

8 A Where are you looking?

9 Q Right underneath, "burning pain down the back of
10 his legs."

11 A No. "A tender 1-1/2 cm mass on the right neck."

12 Q How about right above that?

13 A "Burning pain back legs on and off."

14 Q And this is May 31, 1985.

15 A Mmm-hum.

16 Q Okay. Let me show you what's been marked as
17 Defendants Exhibit A. Oh, I gather the information con-
18 tained in there, to the extent **it** is significant, was
19 not given to you before.

20 A No I have not seen this.

21 Q Okay. The same notes, I believe they were
22 Dr. Aballaro.

23 11:37:26 - MR. KUBE: Well just for
24 the record george, I'm going to
25 object to these...

1 ...Sure...

2 for the basis of we don't know who
3 they're from for sure. Unless you
4 know.

5 ...I believe they are, I just was selective about bringing
6 them with me, Mike.

7 ...Okay...

8 ...Here is now 11/6/85.

9 A Mmm-hum.

10 Q What does CC normal mean? History?

11 A Where are you looking? Chief complaint.

12 Q "Chief complaint: Was seen by chiropractor a
13 chiropractor a week ago, Told to stay on his back. Had
14 an accident on a four wheeler. Four wheeler turned on top
15 of him and then rolled down a hill."

16 A Right.

17 Q "Complained of pain in the lumbar area, right
18 thigh area. Tingling sensation."

19 MR. KUBE: Well wait, are you just...
20 I mean I don't want to be obstructive
21 here, but you're just selectively
22 reading certain things and then omit-
23 ting other things.

24 ...That's what you certainly have a right to do to review
25 any part of it you want Michael.

1 . . . Okay . . .

2 ... "Seeing a chiropractor again." He indicates.

3	A	Mmm-hum.
---	---	----------

4	Q	"Manipulation and cracking of his neck."
---	---	---

5	A	Mmm-hum.
---	---	----------

6 Q Okay, "Muscle strain, lumbar." This information
7 was not given to you, I gather, when you took the history
8 from Mr. Baughman?

9	A	Hmm-cum.
---	---	----------

10	Q	Nor did any other doctor tell you that?
----	---	---

11 A Not that I recall.

12 Q Okay. What's muscle strain? Isn't that what
13 you've been talking about?

14 A Muscle pull, muscle strain. He...what I'm talking
15 about is something more than what he has.

16 Q But we don't know if know if that...what we've
17 just read, caused the scarring; do we?

18 A Oh I don't...that should not. I mean everybody
19 does that to themselves.

20 Q Rolls down...a four wheeler rolling on top of
21 them?

22 A Not a four wheeler rolling on top of them. How-
23 ever, I mean muscle strain is something that all of us
24 do every now and then depending upon activities that we
25 do.

1 Q Well at any rate, this information wasn't
2 imparted to you. Although we see now complaints before
3 our...this accident. We see complaints of the neck, of
4 the back, of the tingling down the legs and all that sort
5 of thing. But that information was not imparted to you.
6 Right?

7 A No.

8 Q By Mr. Baughman. Let me show you whats been
9 marked as Defendant's Exhibit F, doctor please.

10 11:39:46 - MR. KUBE: Okay. Show an
11 objection to this.

12 ...Sure. And this, of course,. is brought up because
13 Mr. Kube questioned you on it before. This is a letter
14 from a neurologist, Everett Hurtoe (phonic).

15 A Mmm-hum.

16 Q Are you familiar with Dr. Hurtoe?

17 A No.

18 Q And it's addressed to Thomas Funk a chiropractor.

19 A Mmm-hum.

20 Q This goes back to 1978. Were you advised of any
21 problem in 1978, by Mr. Baughman?

22 A No

23 Q "He awakened with numbness of the right hand."

24 A Mmm-hum.

25 Q Dorsal, that's what?

1 A He had a radial nerve palsy.

2 Q Okay. What is a radial nerve palsy?

3 The radial nerve supplies the extensors of the
4 wrist. And he had weakness of that, with some sensory
5 loss over his hand in a radial nerve distribution. Most
6 commonly caused by resting on it for a prolonged period
7 of time, The radial nerve comes right through this groove
8 here. And if you do this for any length of time, you'll
9 end up injuring the radial nerve and cause those problems,

10 Q Okay. Did Mr. Baughman tell you that Dr. Funk
11 had treated him for upper cervical and trapezius muscle
12 inflammation for the past ten years, from 1979, to 1989?

13 11:41:24 - MR. KUBE: Objection.

14 A NO.

15 Q Just so I'm clear, did Mr. Baughman tell you...

16 ...No..

17 ...Did he tell you that he had muscle inflammation for the
18 past ten years. That is from 1979, to 1989.

19 A No.

20 11:41:38 - MR. KUBE: 'Objection.

21 Q Did he tell you that he was a patient of
22 Thomas L. Funk, chiropractor, in 1979...actually from
23 1976, to 1989?

24 A No.

25 Q Are you familiar with any objective tests taken

1 by Dr. Funk with regard to the neck, trapezius muscle
2 inflammation for ten years?

3 A No.

4 Q You testified about these doctor, but let's let
5 the jury see them. Referring to what is Defendant's
6 Exhibit B, can you tell me what that is?

7 A These are spine films from the Lodi Community
8 Hospital. The lumbosacral spine, the dorsal or thoracic
9 spine and the pelvis.

10 Q These are taken 3/9/89, correct doctor?

11 A Yes.

12 Q And that's the date of the accident?

13 A Yes.

14 Q And the finding with regard to the lumbosacral
15 spine x-rays was what?

16 A Normal.

17 Q No problems of any kind, is that what that means?

18 A Yes, a normal spine films, right.

19 Q Dorsal spine, that's I gather the part from
20 what we call the neck down to the part where we call the
21 beginning of our butt area, lumbar area?

22 A Right.

23 Q And what was the findings there?

24 A Normal.

25 Q Now they also did a pelvic x-ray. And what was

1 the finding of the pelvic x-ray by the radiologist at
2 Lodi Community Hospital?

3 A It was normal.

4 Q Thank you doctor. I'm showing you doctor what
5 has been marked for identification for the jury's view,
6 Defendant's Exhibit C. These are apparently the follow up
7 x-rays taken on 3/20/89.

8 A Mmm-hum.

9 Q That is...we know the accident occurred on 3/9.
10 This is what doctor?

11 A Cervical spine, or neck.

12 Q And they've got AP, lateral, right and left
13 oblique views, What does that mean?

14 A AP is you shoot from anterior to posterior,

15 Q Front to back for us?

16 A Front to back, right. Lateral is you shoot from
17 the side. And oblique is you're turned at an angle,

18 Q So they did the complete check of the cervical
19 spine as far as x-rays or radiographs are concerned? Is
20 that correct?

21 A Well they didn't do flexion/extension, but it
22 doesn't matter.

23 Q Flexion/extension, that's back and forward?

24 A Right.

25 Q All right. And what was the conclusion by the

1 radiologist with regard to that series of cervical spine
2 x-rays?

3 A They were normal.

4 Q By the way, have you ever seen any time you've
5 been with him or any information **on** you've gathered that there
6 was ever an abnormal x-ray of any kind or nature?

7 A No.

8 Q Doctor I'm showing you what has been marked for
9 identification again, for the jury's benefit **so** they can
10 take a look at these, Defendant's Exhibit D. These are
11 records from the radiology department **of** Wooster Community
12 Hospital?

13 A Yes.

14 Q And I see the one on top is dated...what is that...
15 February **23**, 1990?

16 A Yes.

17 Q And what is that?

18 A That's an arthrogram.

19 Q Right shoulder arthrogram?

20 A Yes.

21 Q Is that the area that he's complained to you a
22 little bit about?

23 A He's complained about what he calls the shoulder.
24 This is specifically looking at the joint.

25 Q What is that...what is an arthrogram?

1 A You inject dye into a joint and then you take a
2 radiographic picture so you can see the joint structures.
3 You can see the structure inside the joint. If you were
4 to take a plain film or an x-ray, for example...

5 Q You can't see inside the joint?

6 A You just see the bone. You can't see inside.

7 Q Now, in a right shoulder arthrogram, do they do
8 any soft tissue testing?

9 A No. They just looked at the joint.

10 Q When we talk about football players and baseball
11 players having arthrograms and coming up with ligamentous
12 damages or collateral ligaments in the knee,,

13 ...Right...right...

14 ...and all that sort of stuff. Those are soft tissues
15 aren't they? Aren't ligaments...everything but bone,
16 aren't they soft tissues?

17 A Yeah, I guess you could call it...consider it,

18 Q You can consider soft tissue?

19 A Mmm-hum.

20 Q When a doctor is doing an arthrogram, and as a
21 matter of fact the one I guess we'd all be familiar. with
22 is the baseball and football players because we pick it
23 up every day in the paper?

24 A Mmm-hum.

25 Q They're checking for a tear of a soft tissue.

END OF TAPE ONE

1 A They're checking to see whether..what they're
2 checking for is whether, in this instance of the right
3 arthrogram, they're looking at the joint and they're
4 looking to see if any of the tendons of the rotator cuff,
5 in other words, the muscle attachments to the joint itself,
6 whether there is any tears of the muscle attachment.

7 Q At any rate, this was totally negative. Is that
8 correct?

9 A Yes.

10 Q And they indicate, "fail to demonstrate any
11 evidence of tear."

12 A Right. Of the rotator cuff, that's what they're
13 looking for,

14 Q Now then we have on, apparently March 1, of 1990,
15 again, at Wooster Community Hospital, an MRI of the
16 cervical. spine.

17 A Yes.

18 Q And that was negative except to showed some
19 bulging...minimal bulges.

20 A Oh, the impression was "Minimal disc bulge C5-6,
21 C6-7."

22 Q That's not a problem with which you're dealing,
2.3 is it?

24 A I would not be concerned about that if I saw it.

25 Q When they say a bulge,. there's no relationship to

1 the cord or compression **of** the cord or the nerves or
2 anything of that nature.

3 A Right. There is no pressure on the spinal cord,
4 no pressure on the nerve root.

5 Q So that a bulge which probably most of us have
6 after 35 or 40.

7 A You're right. I would consider this to be a
8 finding that although not normal, is not **of** any consequence.

9 Q It's certainly not something that you've been
10 discussing?

11 A Hmm-cum.

12 Q So other than that then, we have **a** normal MRI of
13 the cervical spine,

14 A Right.

15 Q Okay. Let me show you doctor, if I may, what's
16 been marked as Exhibit E. And again, you've discussed
17 with attorney Kube about about the various objective tests.

18 11:48:27 - MR. KUBE: George, just
19 before you ask that...

20 ...Sure...

21 ...Objection to Exhibit E.

22 ...Sure. Well Dr. Kessler is the doctor that referred.

23 A That's right.

24 Q Mr. Baughman to you. Correct?

25 A Yes...mmm-hum.

1 Q And you conversed with Dr. Kessler.

2 A Mmm-hum.

3 Q And you asked him information that he has
4 received, is that correct?

5 A Right.

6 Q And aiding you in your diagnosis and treatment
7 of Mr. Baughman is information that you received from
8 Dr. Kessler.

9 A That's right.

10 Q And **do** you feel that Dr. Kessler has been open
11 with you?

12 A Oh yes.

13 Q In giving you all the information he felt was
14 necessary, and you did?

15 A Yes.

16 Q Okay, Well Dr. Xessler apparently sent
17 Mr. Baughman **to** a Dr. James McClelland.

18 A Mmm-hum.

19 Q A neurologist in Mansfield.

20 A Mmm-hum.

21 Q And you can refer to the second two pages doctor,
22 if you wish, and maybe you don't even have to. I guess
23 the second two pages attached to Exhibit E related to the
24 actual studies...those are the results **of** the studies.

25 A Mmm-hum.

1 Q This was an electrodiagnostic consultation as I
2 see it.

3 A Mmm-hum.

4 Q What does that mean?

5 A Well he did an EMG on him.

6 Q What is an EMG?

7 A It's an electromyogram where they test whether
8 the muscles and the nerves work properly together.

9 Q In what area of the body did this particular EMG,
10 which is used to see if the muscles and the ligaments work
11 together, what part of the body did this test?

12 A It was specifically looking for what we call a
13 cervical radiculopathy.

14 Q And that means the nerves as they exit...or the
15 cord in the area of the neck which services the muscles
16 and nerves in our arms.

17 A Mmm-hum.

18 Q That they were checking to see...

19 A Whether there was any compression on it. I mean
20 I would anticipate it would be normal, since he had a
21 normal MRI.

22 Q Okay. And this, in any event, was normal?

23 A Yes.

24 Q Now, I see that the letter from your...or to
25 your referring doctor, Dr. Kessler, says, "At that time

1 he was seen with a question of carpal tunnel syndrome."

2 A Mmm-hum.

3 11:50:38 - MR. KUBE: George, just
4 so there is no...continuing objection
5 to this whole...

6 Q Absolutely...you can take the whole thing out...
7 ...Continuing objection to any
8 exhibits that have **it**.

9 ...Okay ...

10 ...And any questions as to substance
11 or form.

12 ...So apparently this test focused on the carpal tunnel
13 syndrome and the cervical radiculopathy?

14 A Mmm-hum.

15 Q The carpal tunnel syndrome is what you spoke about
16 before.

17 A The median neuropathy of the wrist.

18 Q The median nerve in the wrist where **it** gets
19 entrapped.

20 A Mmm-hum.

21 Q Okay. Now, as I read this letter to your
22 referring physician, Dr, Kessler, **it** was completely
23 negative with regard to the carpal tunnel syndrome?

24 A Wait, ahh...

25 Q Read the second sentence.

1 A Of what?

2 Q The letter.

3 A Which letter?

4 Q The letter that's marked E, Exhibit E, in the
5 lower right hand corner.

6 A Oh, this one.

7 Q And has two pages of it there.

8 A "At that time he was seen with a question of
9 carpal tunnel syndrome or cervical radiculopathy,"

10 ...Right...

11 ...Okay. It says, "I enclose a copy of our work sheets
12 for your records. As you can see, the study is completely
13 normal." I will tell you that looking at this study it's
14 not complete.

15 Q Is it not complete, or just don't I have all the
16 pages for you?

17 A No, it's not complete. He didn't...I mean. The
18 major muscle that is innervated by the median nerve at
19 the wrist is what we call the abductor pollicis brevis
20 muscle. And he didn't even test it.

21 Q Okay. How about the test for the cervical
22 radiculopathy which is on the first page?

23 A Well no. Basically, this is two separate things.
24 This first page are the nerve conduction studies. The
25 second page is the needle exam.

1 ...Right...

2 ...Okay. They give you two different.. .

3 Q Where you stick the needle in?

4 A Right. They give you two different information...
5 two different sets of information...

 ...Mmm-hum..,

7 ...Okay. Basically what he did here is he looked at the
8 major nerves of the upper...right upper extremity,

9 Q Which is the right upper arm?

10 A Right arm, mmm-hum, He...

11 Q Okay. What did he find with regard to those?

12 A Well, first of all, and I can't really tell here,
13 I don't know whether this is right and left. He just says
14 right, But then he's got several different...I see what
15 he did. Okay. He just studies the right arm, he didn't
16 study **it** and compare **it** to the left arm, which is something...

17 Q The right arm is where the complaints were, right?

18 A Right. But you always compare the right to left.

19 ...Okay...

20 ...I mean you can't say anything unless you compare right
21 to left. And secondly, the...what I find unusual is if he
22 was looking for carpal tunnel, he didn't stick the muscle
23 that would be innervated by the median nerve.

24 Q Are you suggesting to me doctor, that if I had a
 ...which is not the case here, as you said before. But if

1 I had a disc at C6 or C3 let's say...on the right.

2 A Mmm-hum. Well don't use C3, because there are
3 no good C3 muscles to test in the arm. C6 is a good one.

4 Q C6.

5 A Okay.

6 Q And on the right...impingement on the right...
7 the nerves going on the right side.

8 A You might have a normal EMG.

9 Q I might have a normal EMG.

10 A Absolutely. And your MRI might be grossly
11 abnormal. And your EMG might be totally normal. And why
12 is that? Because when the nerve exits from the spinal
13 cord in the neck, they're split very widely. There's
14 the anterior, or the motor route, and there's the dorsal,
15 or what we call the sensory route. Most disc rupture
16 posteriorly and press on the sensory route. That is
17 totally, purely involving the sensory route. The EMG is
18 a study of the motor route, period.

19 Q Not the sensory?

20 A Not the sensory route. So, it could be completely
21 normal and you could have a huge disc on the MRI. That's
22 why people do these things in conjunction with, to look
23 at one or the other. Nonetheless, he didn't have a disc,
24 and we knew that from his MRI. So it didn't much matter.

25 ...That's right...

1 ...Right. This is not a...this is a study had I received
2 I would have asked our electromyographers here to redo,
3 because it's an incomplete study.

4 Q Have you done them by the way?

5 A EMGs?

6 Q Yes.

7 A yeah, I spent six months doing them.

8 Q No, no, I mean with Mr. Baughman.

9 A There was no reason to because I...

10 Q Did you work with Basil Osborne (phonic),

11 Dr. Osborne?

12 A I spent six months with him.

13 Q You didn't do any EMG studies for Mr. Baughman?

14 A There was no reason to do an EMG test.

15 Q Okay. Fine. **So** even though the one here in
16 front of you you felt should have been...more should have
17 been done...is insignificant.

18 A It wasn't complete, it was incidental. I don't
19 care. You know the thing is, I don't care that this
20 EMG is normal. I don't care that his x-rays are normal.
21 Because those are not...those are important up to the
22 point that he saw me. Because what his physicians were
23 doing is they were looking for abnormalities and things
24 that could be fixed with a specific treatment, like
25 surgery. When that is negative, and there is nothing

1 left to do surgically, and somebody isn't getting better.
2 That is when a referral to a pain center is appropriate.
3 So in my mind, all the negative tests are great because
4 that means that I don't have to then do that before I
5 enter somebody into a pain management program. If he
6 would have come to me and not had specific studies done
7 and I found certain things on his exam, I probably would
8 have asked for those studies to be done. Because I'm not
9 about to ask somebody to do a pain management program
10 which would involve a very aggressive exercise program,
11 when, in fact, that might be contraproductive in somebody
12 who has a disc. That might make them worse...

13 ...Sure...

14 ...I mean I wouldn't bother to belabor...it's sort of
15 inconsequential to me.

16 Q Okay. Early on your testimony, Mr. Kube asked
17 YOU about Dr. Brims.

18 A Mmm-hum.

19 Q Dr. Brims is an orthopedic surgeon at the
20 Cleveland Clinic?

21 A Yes.

22 Q Competent orthopedic surgeon?

23 A From what I understand, yes.

24 Q Did you have any contact with him with regard to
25 this case?

1 A No. I think I have some of his records though.

2 Q You did get his records?

3 A Yeah, from Dr. Kessler, Dr. Kessler had sent me..

4 Q May I see what records you have?

5 A Mmm-hum...certainly.

6 Q You got his records from Dr. Brims through
7 Dr. Kessler.

8 A Let me see what I have...

9 OPERATOR: We're off the record.

10 OPERATOR: We're on the record.

11 Q Doctor, you've been kind enough to show me your
12 file with regard to Dr. Brims, the orthopedic surgeon at
13 the Cleveland Clinic Foundation. And Dr. Brims apparently
14 wrote to Dr. Kessler, your referring physician, and this
15 information was imparted to you for whatever help it
16 could be to you.

17 A Right.

18 Q And I think you said before that it's insignifi-
19 cant to what you do as a pain clinician. But Dr. Brims
20 did say on examination today, that is July of 1990: "There
21 were no objective findings to help support any diagnosis."

22 A I know but that was...

23 ...A diagnosis...a diagnosis, I'm sorry.

24 ...Yes, that's what he says...mmm-hum.

25 Q And of course, as you suggested before in your

1 colloquy, that that's the point when you step in and you
2 do what your thing is.

3 A Right.

4 Q He had...Dr. Brims said, "He had normal motion
5 without evidence of ACSC joint tenderness." What does
6 that mean?

7 A Acromioclavicular joint.

8 Q That's the shoulder joint?

9 A Right.

10 Q So, he had total normal motion...I shouldn't
11 say total, that's not his word. He had normal motion
12 without evidence of any joint tenderness.

13 A Mmm-hum.

14 Q Tenderness is subjective. Tenderness is when you
15 say...you touch him and he says, "ow."

16 A Subjective and objective in the same case because, I
17 mean, if I touch you and it hurts, you're going to give
18 me a response so that it's pretty clear that it hurts,

19 Q Not if the pain would be a 2 on a scale of 10,
20 maybe...I could fake it.

21 A Oh yeah, probably...if it were significant
22 enough.

23 Q "He had full range of motion of the shoulders
24 with symmetrical strength throughout."

25 A Mmm-hum.

1 Q "No evidence of weakness or dysfunction of the
2 shoulder. But he did have focal subjective complaints of
3 pain." Is that correct?

4 A That's what it said...

5 ...Okay...

6 ...I just got it, I can't read it.

7 Q Would you...

8 MR. KUBE: Well other than the fact
9 that you're just selectively taking
10 things out of context...

11 ...Well you'll have the opportunity to tell the ladies
12 and gentlemen of the jury.

13 ...Sure...

14 ...And read the balance of it. And I'll pleased to
15 listen.

16 ...Sure...sure.

17 ...Thank you. "Even examining it after the injection test
18 which you spoke of before, there was no reflex muscle
19 guarding in any fashion. Although the patient still
20 complained of subjective pain." I mean that would make
21 sense to you given your diagnosis?

22 A What would make sense to me?

23 Q That there would be no reflex muscle guarding in
24 any fashion, even though the patient still complained of
25 pain after injection.

1 A I don't even know what that means.

2 Q Okay. It was after he gave him the injection.
3 The doctor says, he could clearly detect no instability
4 signs or subluxation, which was his initial diagnosis
5 based upon treatment. Do you agree with that, by the way?

6 A Do I agree with what?

7 Q His findings.

8 A He never had any evidence of subluxation of the
9 joint or an abnormal joint. And that was never the
10 issue, as far as I was concerned.

11 Q Now in this presentation, this report to you
12 which is part of your file) would you explain this to me:
13 It says, quote, "Certainly it is difficult to know in these
14 types of patients whether there is secondary gain.
15 Especially when the subjective complaints are not
16 supported by objective data." Is secondary gain such as
17 the results of a lawsuit. Isn't that one of the things
18 that we call secondary gain?

19 A There are many causes...there are many things
20 that one can..

21 Q Is that one of them?

22 A Sure.

23 Q Financial secondary gain.

24 A Mmm-hum.

25 On the record.

1 Q Michael, in the event that it may be some bene-
2 fit to the jury out here in Medina County, I'd appreciate
3 having a copy of this made in its entirety certified as
4 being a complete copy of the record. In case there is
5 anything we want to mark as an exhibit to which she has
6 testified.. .

7 MR. KUBE: Sure.

8 ...Fair enough? Doctor, you...going back to your first
9 report back in November 26, you indicated there were
10 seven impressions that you had.

11 A Mmm-hum.

12 Q Bo you know what I'm talking about?

13 A Yes.

14 Q Probable right shoulder bursitis. Did you ever
15 determine whether or not he, in fact, did have bursitis?

16 A Well after going through the...obviously, no,
17 I mean I never pursued any of that.

18 Q Okay. As of today, as far as your concerned
19 medically speaking, you can't say that he has bursitis?

20 A As far as today, my diagnosis would be that he
21 has a fibromyalgia or myofascial pain syndrome and right
22 carpal tunnel syndrome.

23 Q Right carpal tunnel syndrome relating to this
24 incident?

25 A I believe so.

1 Q How? When were you first notified about it?

2 A Well he came with the problem of carpal tunnel.
3 I mean that's one of the things that he talked to me
4 about.

5 Q Well then when was it first...when did he make
6 his first complaint to anybody about carpal tunnel?

7 A Well, I mean, the first complaint he made to me
8 of carpal tunnel was the first day I saw him.

9 Q And how long was that after the accident?

10 A Well, it was November 26, 1990, is when I saw him.

11 Q So is that a year and one-half after the accident?

12 A Yes.

13 Q Okay. How about...something about right shoulder
14 traumatic arthritis. Now we've heard what Dr. Brims of the
15 Cleveland Clinic has said.

16 A Right. Okay. That wasn't...I mean...you don't
17 need to. I mean as far as I'm concerned the initial
18 impressions were those of speculation and one that...one
19 makes a differential diagnosis and goes through and
20 treats. The only thing that is clear right now is that
21 he has a myofascial pain syndrome. And he has a carpal
22 tunnel syndrome.

23 Q Syndrome, that means a bunch of symptoms and
24 objective...subjective statements?

25 A No.

1 Q That we grab and put a name on?

2 A No. He has trigger points that can be palpated
3 and felt with resulting radiating pain from it. And he
4 has a chronic pain syndrome resulting from that. And he
5 has carpal tunnel syndrome.

6 Q Now, let's go down the list here.

7 a Mmm-hum,

8 Q You just stated he's got right shoulder chronic
9 pain syndrome.

10 A Mmm-hum. That was the initial...in the initial
11 history.

12 Q Is that, in fact, your position today?

13 A He has a chronic pain syndrome...

14 ...Okay. .

15 ...number one. He has a myofascial pain syndrome...fibro-
16 myalgia or fibrositis. Any one of those three were...

17 ...Soft tissue whiplash type injury...

18 ...are used. And he has a right carpal tunnel syndrome.

19 Q Okay. Have...did you rule out traumatic arthritis?

20 A I never studied him further for that.

21 Q Okay. So as far as you're concerned you're not
22 making a medical diagnosis that he has traumatic...

23 A No.. .

24 ...Okay. ..

25 ...I told you my three diagnoses that he has.

1 Q Okay. Well this was read before that's why...or
2 will be in evidence and I want to make sure...
3 ...Okay...Sure...
4 if it stands it stands.

5 A Okay.

6 Q If it doesn't it doesn't. Right shoulder
7 bursitis.

8 A No.

9 Q He doesn't have that, does he?

10 A No.

11 Q Post-traumatic muscular contraction headache.

12 A He has that, but it's pretty much resolved.

13 Q That's resolved. Lumbosacral, that's the low
14 back, paraspinal, that means across both sides, muscular
15 strain. Is that resolved?

16 A No, I think he still has it., But I...if these,
17 the last three, the lumbosacral, the cervical and the
18 thoracic paraspinal muscular strain are now part and
19 parcel...have become part of this myofascial pain syndrome

20 I have nothing further doctor. Thank you for
21 your time, I appreciate it.

22 DURING REDIRECT EXAMINATION BY MR. MICHAEL XUBE:

23 Q Doctor, I just have a couple minutes of questions
24 Okay?

25 A Okay.

1 Q First of all, I want to make sure I understand
2 this. Basically, after having seen him for some two years
3 now. You're...if I understood your just prior answers
4 correctly...

5 ...Mmm-hum...

6 ..,Your diagnosis of his current problems is Myofascial
7 pain syndrome. And right carpal tunnel,

8 A And chronic pain syndrome.

9 Q Okay. And chronic pain syndrome. Now, the myo-
10 fascial pain syndrome, so I can make sure I understand.

11 A Mmm-hum,

12 Q Is caused physically by what injury that he
13 suffered as a result of this automobile wreck?

14 A The acute extension/flexion injury or the whip-
15 lash injury.

16 Q And what happened to the soft tissue and what
17 soft tissues of his body that now causes this diagnosis?

18 A Okay. There is a shirring effect of the muscles
19 with the acute trauma. As the muscles heal they tend to
20 scar and cause what we call trigger points and trigger
21 areas. And that's what's causing his problem.

22 Q So I can put all of these tests that George Lutjen
23 has marked here, and I'm just going to go through them,
24 into proper perspective. The x-rays, for instance. The
25 x-rays are negative. If I understand x-rays correctly,

1 they are designed to show whether or not there is any
2 damage to the hard tissue, not the soft tissue. Correct?

3 A X-rays look at bone.

4 Q And bone is hard tissue.

5 A Right. I was not concerned about a bone injury..
6 ...Okay..

7 ...I don't care if they're negative. I would assume that
8 they'd be negative.

9 Q Okay. And in fact their being negative is con-
10 sistent with your diagnosis.

11 A That is correct.

12 Q Then...and that deals with, really, Exhibit C,
13 Exhibit B. Then there is a...the diagnostic studies
14 which you made reference to these EMG or nerve studies.
15 Incidentally, did you do any nerve studies?

16 A Yeah, and I forgot that I did. But in February
17 92 he had some increased problems with his hand, and he
18 did do an EMG and nerve conduction study. And it was
19 abnormal.

20 Q Okay. So you mentioned that the nerve studies,
21 which Mr. Lutjen made reference to, marked as part as
22 Exhibit E were somewhat incomplete.

23 A They were very incomplete.

24 Q What did your nerve studies show and when did you
25 take them?

1 A These were done on February 24, 1992. And
2 he had evidence of. ..the study is consistent with the
3 presence of both C8 and C7 radiculopathies without
4 active denervation.

5 Q So, they were abnormal and did they...let me ask
6 you this way. Did they support your diagnosis of his
7 condition?

8 A Ahh...you know, the reason I asked him to have
9 that done was at that visit he had some new complaints
10 of his hand. And so the issue was was there something
11 new going on or was it something old that hadn't been
12 picked up. And frankly, that's the reason to have had
13 them done.

14 Q Okay. Fair enough. The MRI was also mentioned,
15 and that was negative. And so I can understand you
16 specifically. Does the MRI, the one that was taken in
17 this case, show abnormalities caused by scarring of the
18 muscle that you talked about happened here?

19 A That wasn't the area that was studied. He had a
20 neck MRI which was looking at the cervical spine or the
21 bones in the neck, and the nerve roots to see whether
22 there was a disc or something putting pressure on the
23 nerve root. Nobody was looking at any of the areas that
24 were involved. These were to rule out other things.

25 Q Now, you mentioned, and Mr. Lutjen mentioned to

1 some extent Dr. Brims and read parts of his report.
2 But one of the things he didn't read was...he said,
3 and I want to see if it's consistent with what you've
4 done for him. "I told the patient that if he continued
5 to have pain despite a Marcaine injection, that I would
6 refer him to a chronic benign pain program. I did discuss
7 this with both the patient and his wife and they seemed
8 agreeable to this course, including the benign pain
9 evaluation." Is that consistent with the type of treat-
10 ment that he has had here?

11 A That's what we did.

12 Q Lastly, this...I guess one of the issues here is
13 basically, as simply as I can understand it, is in your
14 opinion, based upon your treatment and evaluation of
15 Dennis Baughman. Are his complaints basically something
16 he's faking for purposes of getting money in this lawsuit?
17 Or, have you found, based upon your examinations and
18 testing and finding and treatment, that his pain is being
19 produced by damage which was caused to those parts of his
20 body in this car wreck of March 1989?

21 A Frankly, his pain is caused by the accident.
22 When we admit somebody to the pain center, they have a
23 medical evaluation with me to assume their medical
24 appropriateness. They also have a psychological evaluation
25 which includes psychological testing as well as an inter-

1 view and all sorts of things,

2 Q That was done for Dennis Baughman.

3 A That was done to try and rule out and to get rid
4 of malingerers and people who are just after money.

5 Q Have you, in your seeing patients, and I know
6 its been a long time and we're almost done. Have you in
7 seeing patients here at the pain center declined not to
8 treat people who have come here who the psychological
9 evaluation has shown are in it for purposes of secondary
10 gain or malingering? Has that ever happened?

11 A Oh yes, absolutely. And these are people who
12 we will not treat.

13 Q Okay. You did those types of psychological tests
14 on Dennis Baughman, did you not?

15 A That is right.

16 Q Okay. And what did they show?

17 A He was medically and psychologically appropriate
18 for this type of treatment.

19 Q Okay. I have no further questions.

20 MR. LUTJEN: Let's go off the record.

21 OPERATOR: We're off the record.

22 OPERATOR: We're on the record.

23 DURING RECROSS EXAMINATION BY MR. GEORGE LUTJEN:

24 Q Doctor, in reviewing your file I noticed some-
25 thing that I missed and it may or may not be of interest

1 to the jury. But I'm going to impose upon their time and
2 yours to see that they hear it anyway. I'm referring to
3 evaluation of 9/11/91.

4 A Which evaluation?

5 MR. KUBE: Is that in her records,
6 George?

7 Q Yes. Do you have those doctors?

8 A Which one is that? There are lots of evalua-
9 tions.

10 Q It's dated 3/28 ...

11 A I think maybe I can see which one you're referring
12 to...

13 ...Sure...

14 ...This is a physical therapist. Okay.,

15 Q Is this information contained in your file?

16 A Mmm-hum.

17 Q Brought back to you to review?

18 A Yes.

19 Q Of his treatment. Look at the one dated 9/11/91,
20 if you will please.

21 A Mmm-hum.

22 Q And we have on top...and this will be before the
23 jury, so why don't we go through the whole thing.
24 Subjective. And we've talked about subjective, objective,
25 we don't have to get into that. Then we've got objective,

1 A Okay.

2 Q By objective, what do you mean?

3 A What you can see.

4 Q What you can see. Now under ROM, that means
5 range of motion?

6 A Yeah..

7 12:18:06 - MR. KUBE: George, I'm

8 sorry, excuse me one minute, This is

9 ...these are the opinions and findings

10 of...do I have the right page,

11 Robert Sullivan, physical therapist?..

12 ...Right, the physical therapist.

13 ...Physical therapist.

14 ...Okay. Show an objection. Go ahead..

15 Q Reporting to you for use in your treatment of
16 Mr. Baughman.

17 A Mmm-hum.

18 ...Okay. Show an objection.

19 Q Okay. Range of motion is ROM, correct?

20 A mmm-hum.

21 Q Lumbar, that's the low back?

22 A Right.

23 Q WNL within normal limits.

24 a Right.

25 Q All planes.

1 A Except extension.

2 Q Which wasn't tested?

3 A Right.

4 Q I guess if there was a suspicion there would be
5 a problem they would have tested it.

6 A I don't know why he didn't test it...I don't.

7 Q Okay, But other than that, it's within normal
8 limits?

9 A Right.

10 Q Upper extremities, arms, lower extremities, legs,
11 both sides, within normal limits with the following
12 exception.

13 A Right.

14 Q What are the real exceptions? Everything is
15 within normal limits, aren't they?

16 A Well these are limited. I mean they're not quite
17 what they should be.

18 Q They're not like one...

19 A There's a range. I mean there's a normal range.

20 Q These are within the normal range though?

21 A Right. But they're not what the rest of them
22 were.

23 Q WNL means within normal limits,. right?

24 A Right.

25 Q Okay. Now we go under motor. Upper extremities,

1 lower extremities.

2 A Mmm-hum.

3 Q 5/5 strength bilaterally. What does that mean?

4 A It's normal strength.

5 Q Of all major muscle groups.

6 A Tested, yes.

7 Q That were tested.

8 A Right.

9 Q Okay. Now interestingly, the finding is, quote,
10 "No pain reproduction with MMT of right shoulder. ER/IR."
11 would you tell me in my language, doctor please, what that
12 means? It states, "No pain reproduction with MMT." What's
13 MMT?

14 A MMT, manual muscle...I believe it's manual muscle
15 tension...

16 ...Okay ...

17 ...Internal and external rotation.

18 Q Okay. That's where they are...the physical
19 therapist is internally and externally rotating the
20 shoulder.

21 A Mmm-hum.

22 Q And no pain was produced?

23 A Right. Not in the joint.

24 Q And what does ER/IR mean?

25 A External rotation, internal rotation.

1 Q Okay. So his functional is concerned, on the
2 bottom, "The patient is independent in all mobility skills."

3 A Mmm-hum.

4 Q What's ADLs?

5 A Activities of daily living.

6 Q Okay. And there is a complaint of pain with
7 functional use of the upper extremity.

8 A Mmm-hum.

9 Q Going to page 2, we got special tests. Wpper
10 extremities and lower extremities were tested...this is
11 for reflexes.

12 A Mmm-hum.

13 Q Two plus and symmetrical. That's no problem there,
14 right?

15 A No.

16 Q Sensation not formally tested.

17 A Mmm-hum.

18 Q However, patient is intact to light deep touch
19 and denies paresthesia. No problem there, right?

20 A I mean I don't know what the point of this is.

21 Q It looks to me like we're dealing with a pretty
22 healthy, sound, solid physical individual according to
23 your physical therapist. The jury may disagree with that
24 position. I'd like to see what your physical therapist
25 found, that's the point there.

1 A Okay.

2 Q Palpation: no significant tenderness was noted
3 along the right upper border of the upper trapezius, the
4 right acromioclavicular joint, and scapular regions.

5 A Mmm-hum.

6 Q What is negative impingement sign on the right
7 shoulder?

8 A You press on the joint. It's a sign for rotator
9 cuff tear which he did not have,

10 Q Okay. What's the second negative apprehension
11 test?

12 A I don't know what he did there.

13 Q Okay. Now, interestingly under assessment, quote...

14 A You also missed that he had positive crepitus of
15 his right shoulder.

16 Q The jury is going to have the entire thing. I'm
17 only concerned about this particular side. They can read
18 the whole thing. And we spent a long time with Michael
19 and yourself bringing out the other side. I just think
20 it's fair to show the other side now.

21 A Okay.

22 Q Assessment: Quote, "The patient presents with a
23 diagnosis of right shoulder myofascitis." And you've told
24 us that over and over again.

25 A Right.

1 Q Semicolon: "However, chronic pain symptoms may
2 be due to degenerative changes of the shoulder joint and
3 periarticular structures." What's degenerative changes,
4 doctor? Arthritis?

5 A Deterioration...that's what that...I mean it's
6 kind of ridiculous that he said that since there is no
7 evidence on any x-ray that he had arthritis shown, or
8 degenerative changes. I mean it was never, and you know
9 that and I know that. So for a physical therapist to
10 speculate, is obviously just speculation.

11 Q Okay. Can you have degenerative changes of any
12 part of your body, soft tissue-wise?

13 A Anything can degenerate. That means just to..
14 it means to deteriorate.

15 Q That's right, Well that's right, so you have...
16 you can...muscles and ligaments and attachments and soft
17 tissue injuries we have frequent findings of degenerative
18 soft tissues changes, don't we?

19 A I don't think I understand your question.

20 Q You can have degeneration of the soft tissue,
21 such as a ligament?

22 A Sure.

23 Q Muscle?

24 A Sure.

25 Q Sure. Okay. Do they show up on MRIs?

1 A It depends on what you're looking for.

2 Q Okay. And that can.. .when you say myofascial,
3 what do you mean by fascial?

4 A The fascia is the covering of the muscle.

5 Q Right. And have you, in your experience, seen
6 degeneration of the fascia?

7 A Yes.

8 Q Okay. So you can have degenerated fascia, And
9 his diagnosis is myofascial pain syndrome.

10 A Right.

11 Q Thank you. And that's 9/11/91.

12 A Mmm-hum.

13 Q I will be done if you will kindly look, so we
14 can explain to the jury, the one on 11/11/91.

15 A Ahh, physical therapy?

16 Q Robert Sullivan.

17 A Mmm-hum.

18 Q Musculoskeletal unit, University Hospitals,
19 11/11/91.

20 A Unless you show it...let me look at that, I can't
21 find mine.

22 Q Doctor, I've just gone over it, and as I see it
23 you have testified and given us what we need, such as
24 WNL, and ROM, so I can translate that for the jury. We
25 don't have to go through all this thing. We don't have to

1 go through it because you've already indicated to us the
2 things that I would need to know for the jury.

3 A Okay, okay. All right.

4 Thank you doctor.

5 Are you done?

6 ...I hope so.

7 DURING FURTHER REDIRECT EXAMINATION BY MR. MICHAEL KUBE:

8 Q I just have one question.

9 A Sure.

10 Q In coming to your diagnosis and the opinions
11 which you rendered in this case. Did you take into
12 consideration all of the interdisciplinary reports, such
13 as the one that Mr. Lutjen just spent a long time reading
14 to you, **of** the physical therapist?

15 A Yes.

16 Q Did you consider that?

17 A Oh, absolutely.

18 I have no further question.

19 OPERATOR: Doctor, you have the right
20 to review this tape **or** you may waive
21 it.

22 DR. KRIEGLER: Don't want to.

23 OPERATOR: And will counsel waive
24 filing **of** the tape?

25 COUNSEL: Yea.

OPERATOR: We're off the record.

STATE OF OHIO)
) SS:
MEDINA COUNTY)

IN THE COURT OF COMMON PLEAS

DENNIS A. BAUGHMAN,
PLAINTIFF,

)
)
)

CASE NO. 54717
VIDEOTAPE DEPOSITION

VS.

)

OF

RAYMOND C. PIERCE,
DEFENDANT.

)
)
)

DR. JENIFER KRIEGLER
JUDGE

C E R T I F I C A T I O N

I, Tim Palcho, a Notary Public within and for the State of Ohio, do hereby certify that the within named witness, Dr. Jenifer Kriegler, was by me first duly sworn to testify to the truth, the whole truth, and nothing but the truth in the cause aforesaid.

I further certify that the testimony then given by her was transcribed to typewritten form and that the foregoing is a true and correct transcription of the testimony so given by her as aforesaid.

I do further certify that I am not of counsel for or related to any of the parties involved in this action nor am I interested in the outcome of this matter., Also I am an independent videotape reporter employed on an as needed basis and not in the employ on a regular or full time basis of any of the parties involved in the aforesaid litigation.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office to attest these facts to be true at Kent, Ohio on this 20th-day of May, 1993.

My Commission Expires:
August 24, 1995.



Tim Palcho, Notary Public and
Videotape Reporter