

IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO
CASE NO. 324550

MONICA DIXON, et al.,)
)
Plaintiffs,) DEPOSITION OF
)
versus) JOANNE KRIVETSKY, M.D.
)
UNIVERSITY HOSPITALS OF)
CLEVELAND, et al.,)
)
Defendants.)

- - - - -

Deposition of JOANNE KRIVETSKY, M.D., a
Witness herein, called by the Plaintiffs for
cross-examination pursuant to the Ohio Rules of
Civil Procedure, taken before me, the
undersigned, Carey D. Sporup, a Registered
Professional Reporter and Notary Public in and
for the State of Ohio, at the offices of Stark
County Women's Clinic, 5000 Higbee Avenue, NW,
North Canton, Ohio, on December 17, 1997, at
5:35 p.m.

- - - - -

ORIGINAL

1 APPEARANCES:

2
3 On behalf of the Plaintiffs:

4
5 ROMNEY B. CULLERS, ATTORNEY AT LAW
6 HERMANN, CAHN & SCHNEIDER
7 SUITE 500
8 1301 EAST NINTH STREET
9 CLEVELAND, OHIO 44114

10 On behalf of the Defendants:

11 KEVIN M. NORCHI, ATTORNEY AT LAW
12 DAVIS & YOUNG
13 1700 MIDLAND BUILDING
14 CLEVELAND, OHIO 44115

15 - - - - -
16
17
18
19
20
21
22
23
24
25

1 WHEREUPON,

2 JOANNE KRIVETSKY, M.D.,

3 who, after being first duly sworn, testified as
4 follows:

5
6 CROSS EXAMINATION

7
8 BY MR. CULLERS:

9 Q. Could you state your name, please.

10 A. Joanne Krivetsky.

11 Q. And your residence and professional addresses,
12 please.

13 A. My residence is 5700 Beverly Avenue Northeast,
14 North Canton, Ohio 44721.

15 Q. Your present occupation?

16 A. Physician.

17 Q. Do you have a specialty practice?

18 A. Obstetrics and gynecology.

19 Q. What's the name of your practice?

20 A. Stark County Women's Clinic.

21 Q. How long have you practiced here?

22 A. Since July 28th of this year.

23 Q. July 28, '97?

24 A. Uh-huh.

25 Q. I need you to say yes.

1 A. Yes. Sorry.

2 Q That's all right. Had you just finished your
3 residency program?

4 A. I finished my residency June 30th.

5 Q. Of '97?

6 A. Of '97.

7 Q. And I take it your residency was at University
8 Hospitals of Cleveland?

9 A. Yes.

10 Q. You were in the program for five years; is that
11 how it works?

12 A. Four years.

13 Q. Four years. Where did you go to medical school?

14 A. Case Western Reserve University.

15 Q. What year did you graduate?

16 A. 199 -- no, 1993.

17 Q. '93. And then you started your residency right
18 then --

19 A. Yeah.

20 Q. -- within a couple of months of your graduation?

21 A. Graduation of medical school was in May of '93,
22 and then I started residency at the end of
23 June of '93.

24 Q. And this was residency training for obstetrits?

25 A. For obstetrics and gynecology.

1 Q. Can you tell me generally how the residency
2 training worked. In other words, did you do
3 rounds with physicians or, you know --

4 MR. NORCHI: You mean all four years?
5 They -- just maybe narrow it.

6 MR. CULLERS: Sure.

7 MR. NORCHI: They do different things
8 in four years; they have different
9 concentrations. So if you want to go to the
10 year in question, it might be a little easier.

11 BY MR. CULLERS:

12 Q. Tell me how it's divided up. I mean, I know
13 that you probably do rotations during part of
14 it. And can you explain how that worked, say,
15 for the first year?

16 A. For the first year of your internship year it's
17 done in obstetrics and gynecology. We rotated
18 through different areas, including the
19 obstetrics and labor and delivery, gynecology,
20 ultrasound, the surgical intensive care unit,
21 the neonatal intensive care unit and medicine.

22 Q. So then your second year, how was it different,
23 if it is?

24 A. The second year was mostly concentrated on
25 obstetrics and gynecology.

1 Q. All right. And so as of March 14, 1995,
2 obviously you're in your second year of your
3 residency? I'm not very good at math. That's
4 why I'm asking you.

5 A. I was in my second year of residency.

6 Q. All right. What I want to do is ask you
7 about -- just information that you may or may
8 not have learned during your residency, so I'm
9 going to ask you a bunch of these questions.
10 They may sound repetitive, but that's what I'm
11 trying to do. And what I'm trying to find out
12 is your knowledge prior to 3-14-95, because
13 that's the date of the delivery of Michael
14 Dixon.

15 A. Okay.

16 Q. All right. Prior to March 14, 1995 how many
17 deliveries had you been involved in?

18 a. I cannot give you a number off the top of my
19 head.

20 Q. Can you give me an estimate as to whether it was
21 more than 10? less than 10? I have no idea.

22 A. At that point it was more than a hundred.

23 Q. Okay. That's what I'm trying --

24 A. But I can't give you an exact number. That
25 would have to come from the residency office.

1 Q. That's okay. I just needed a general idea.

2 A. Okay.

3 Q. Can you give me an idea as to how many
4 instrumental vaginal deliveries you'd been
5 involved in prior to March 14, '95?

6 A. I can't give you an exact number.

7 Q. That's okay. Can you give me an idea? Again,
8 I'm just trying to figure out if it's a couple?
9 hundreds? I don't have any knowledge of that.

10 A. Probably -- my guess would be 25 to 50 maybe.

11 Q. Prior to March 14, 1995 can you tell me how many
12 C-sections you had been involve in?

13 A. Probably at least 50. I think that if you want
14 accurate numbers on this, we have to go back and
15 pull them out of the residency files.

16 Q. Is that something that we could do?

17 A. That's information that's available, because
18 these are total guesses on my part.

19 Q. I'm not necessarily concerned with the exact
20 numbers. I'm just trying to get a general idea
21 as to what your experience was. In other words,
22 it looks like you were involved in many
23 deliveries as opposed to a couple.

24 A Uh-huh.

25 Q I didn't know. What are those files called, the

1 residency files you referred to?

2 A. Oh, they're just our individual files as
3 residents of our experience.

4 Q. So you would have your own file there --

5 A. Uh-huh.

6 Q -- that would have your name on it?

7 A Uh-huh.

8 Q. I need you to say yes. I'm sorry

9 A. Yes.

10 Q Can you give me some idea as to how many
11 deliveries you had been involved in prior to
12 March 14, 1995 that involved the complication of
13 shoulder dystocia?

14 A. Again, guessing maybe half a dozen.

15 Q Can you tell me if before March 14, 1995 you had
16 ever been involved in a delivery that was an
17 instrumental vaginal delivery which also
18 involved the complication of shoulder dystocia?

19 A I don't recall that.

20 Q. You don't recall ever having been involved in
21 one?

22 A. No, I don't recall if that occurred or not.

23 Q. Okay. That's what I asked.

24 MR. NORCHI: That's what she answered.

25 She got it.

1 MR. CULLERS: Okay.

2 BY MR. CULLERS:

3 a. Do you recall whether you were ever involved in
4 any deliveries prior to March 14, 1995 that
5 involved the complication of shoulder dystocia
6 but were a C-section -- but were C-section
7 deliveries?

8 A. I don't recall.

9 Q. When you say you don't recall, are you saying
10 that you don't recall how many or are you saying
11 that you don't recall having been involved in
12 such a delivery?

13 A. I don't recall if there was a case like that or
14 not.

15 Q. Okay. Prior to March 14, 1995 had you ever been
16 involved in a delivery that had an accompanying
17 brachial plexus injury?

18 A. Not that I'm aware of.

19 Q. All right. Aside from this case involving
20 Monica Dixon, have you ever been named as a
21 defendant in a malpractice case?

22 A. Yes.

23 Q. Can you tell me how many cases?

24 A. One case.

25 Q. Did that arise out of a situation that occurred

1 during your residency?

2 A. Yes.

3 Q. Was your deposition given in th t case?

4 A. Yes.

5 Q. What was the name of your patie t in that case?

6 A. I don't remember.

7 Q. You don't remember. Do you rem mber when it
8 was -- is it pending now?

9 A. No.

10 Q Was it dismissed?

11 A. Yes.

12 Q. Do you remember --

13 A. I was dismissed I should say.

14 Q. You were dismissed from it?

15 A. I was dismissed from the case.

16 Q. What was this case about? Don't go into the
17 details of it. Just generally?

18 MR. NORCHI: Objection. Go ahead and
19 give a brief -- do you remember it?

20 THE WITNESS: It was a case that
21 involved -- it was a fetal compromise that
22 involved a C-section which led to a bad outcome,
23 and I cannot remember the details. It was a
24 private physician's patient.

25 BY MR. CULLERS:

1 Q. Do you remember who the lawyer was who
2 represented the patient in the case?

3 A. Who represented the patient?

4 Q. Yes.

5 A. No.

6 Q. Who was your attorney in the case?

7 A. Mr. Tucker, Bob Tucker.

8 Q. Is he from Canton?

9 A. No, he's from Cleveland. I don't know which
10 firm he's with.

11 Q. All right. And you were named individually in
12 that case?

13 MR. NORCHI: Do you know if your name
14 was on the pleadings or not?

15 THE WITNESS: It was named like with
16 the hospital and myself and then the other
17 physicians involved.

18 BY MR. CULLERS:

19 Q. Okay. What I want to do now is focus on certain
20 aspects of care that was provided to Monica
21 Dixon --

22 A. Uh-huh.

23 Q. -- and in particular I would like to focus your
24 attention on her prenatal care and what you knew
25 about her status as of 3-13-95. It's my

1 understanding that your first involvement in her
2 care occurred on 3-13-95. Is that accurate?

3 MR. NORCHI: Let's look.

4 THE WITNESS: March 14, 1995.

5 BY MR. CULLERS:

6 Q. Okay. The reason I asked about 3-13 is because
7 I know that you prepared a clinical resume
8 indicating that certain things had occurred upon
9 her admission, and the clinical resume indicates
10 her admission date being 3-13-95. That's why I
11 asked about that particular date.

12 A. I was the discharging physician so I would have
13 dictated the entire resume for her entire
14 hospital course.

15 Q. So that doesn't necessarily mean that you were
16 involved at any point before 3-14?

17 A. No.

18 Q. And in fact, as you said, that was your first
19 involvement. What did you refer to? Was that a
20 physician progress note?

21 MR. NORCHI: What are you talking
22 about?

23 BY MR. CULLERS:

24 Q. Where you can tell that your first involvement
25 was on 3-14?

1 A. Yeah, it was a progress note from 8:00 in the
2 morning.

3 Q. Okay. Then what I want to do is shift the focus
4 of my questioning then on your knowledge of
5 Monica Dixon's status as of 3-14-95 at 8:00 when
6 you first became involved in her care, which
7 is -- which would be prior to the interim part
8 of -- phase of her care. Do you agree to that?

9 MR. NORCHI: Do you understand the
10 question?

11 THE WITNESS: Can you rephrase the
12 question?

13 MR. CULLERS: Yes.

14 BY MR. CULLERS:

15 Q. On 3-14-95 at 0800 she was not in labor?

16 A. On 3-14-95 at 0800?

17 Q. Yeah.

18 A. That is incorrect. She was in labor.

19 Q. She was in labor. Okay. All right. As of
20 3-14-95 at 0800, were you aware that Ms. Dixon
21 was a gestational diabetic?

22 A. I don't recall, you know, what I -- I cannot
23 tell you. I don't recall verbatim what I was
24 aware of at that time. All I can tell you is
25 what is in the clinical records.

1 Q. All right. Again, the reason I ask that
2 question is -- referring back to the clinical
3 resume, the discharge summary that you prepared,
4 there is some information regarding the result
5 of the patient's glucose tolerance tests, and I
6 was wondering if you knew this information here
7 prior to your first involvement in her care³

8 A. I can tell you that in morning rounds what
9 generally would happen would be that the
10 patient's general condition would be reported,
11 but I cannot tell you, you know, verbatim what I
12 knew at that time.

13 Q. All right. Are you now aware that the patient
14 was a gestational diabetic as of 3-14-95 at
15 0800?

16 A. I don't know what you're trying to ask me. I've
17 answered that the most complete way I can. I
18 cannot tell you with 100 percent certainty I
19 knew that. What I can tell you is in morning
20 rounds, that is the kind of information that
21 would generally be conveyed.

22 Q. I understand that. And the question that I
23 asked was a little different, and that was:
24 Having now reviewed the record -- and if you
25 would like, you can look at the clinical

1 resume -- are you aware that she was, in fact, a
2 gestational diabetic as of 3-14-95 at 0800?

3 A. Reviewing the record, yes, now I can tell you on
4 3-14-95 at 0800 she was a gestational diabetic.

5 Q. Now, the information that you indicated that you
6 sometimes would obtain on rounds, is that
7 something that likely would have included this
8 information about the fact that she was a
9 gestational diabetic?

10 A. Yes, it would likely be conveyed.

11 Q. Okay. And based on that, is it likely that
12 that's information that you would have known on
13 3-14-95 at 0800?

14 A. Yes.

15 Q. Okay. As of 3-14-95 at 0800 were you aware of a
16 likelihood of macrosomia?

17 MR. NORCHI: What do you mean -- well,
18 let me just object to the term "likelihood,"
19 but --

20 MR. CULLERS: Okay.

21 MR. NORCHI: Just because it -- for my
22 client's sake, I want to make her understand
23 that it refers to probabilities versus
24 possibilities, and that has legal connotations
25 as opposed to things you may consider when

1 you're -- when you're involved in the management
2 of this patient's care. That's the basis of the
3 objection.

4 MR. CULLERS: Let me rephrase the
5 question, because I'm not trying to pin any
6 percentage of probabilities on any part of my
7 question.

8 BY MR. CULLERS:

9 Q. I'm just wondering if you were aware that
10 macrosomia was suspected as of 3-14-95 at 0800?

11 A. Yes.

12 Q. Okay. Is that -- that's likely that --
13 something that you would have known when you
14 became involved in her care?

15 A. That is something that may have been discussed
16 in morning rounds.

17 Q. All right. And that, in fact, turned out to be
18 the case, didn't it?

19 A. I don't know the answer to that.

20 Q. Okay.

21 A. I mean, as to whether or not it was discussed in
22 morning rounds.

23 Q. Okay. From your review of the records, do you
24 now believe that macrosomia was, in fact,
25 present? In other words, was this a large, for

1 gestational age, baby?

2 A. Are you asking me if it's macrosomic based on
3 what the weight of the baby was at delivery?

4 Q. Yes.

5 A. That depends on whose definition you use for
6 macrosomia.

7 Q. I would like to use your definition.

8 A. The definition for macrosomia can be anything
9 over 4,000 grams or anything over 4,500 grams,
10 depending on what literature you read.

11 Q. And this baby was 4,100-something grams?

12 A. Uh-huh. So it may or may not qualify for
13 macrosomia.

14 Q. Do you have an opinion as to which of those
15 standards is appropriate for the determination
16 of macrosomia?

17 MR. NORCHI: I'm going to object
18 because she -- and I'm objecting because you're
19 referring to two different schools of thought
20 and theory here; so I mean, if you ask her what
21 she adheres to to determine what's macrosomic
22 for her, that's fine.

23 MR. CULLERS: All right.

24 BY MR. CULLERS:

25 Q. Do you have an opinion as to what macrosomic is,

1 whether it's 4,000 grams or 4,500 grams? Do you
2 have an opinion?

3 A. No. I think that it can depend on different
4 things.

5 Q. Okay. What different things?

6 A. I think it can depend on the maternal habitus;
7 and I think 4,500 grams might be fine for one
8 person, whereas 4,000 grams might not be okay
9 for another person.

10 Q. Is there anything in particular about this
11 woman's habitus that would cause you to
12 differentiate or go either way?

13 A. I don't know what her height and weight are.

14 Q. All right. You weren't aware of her size prior
15 to --

16 A. I do not --

17 Q. -- your involvement?

18 A. I do not know what that is. I cannot recall
19 that, and I don't see that stated in the medical
20 record.

21 Q. Okay. I take it from your previous answer that
22 if she was a large person, meaning obese, then
23 the definition of macrosomia could possibly be
24 4,000 grams as opposed to 4,500 grams?

25 MR. NORCHI: Objection.

1 THE WITNESS: No, that s not what I
2 mean.

3 BY MR. CULLERS:

4 Q Can you explain to me --

5 A. What I mean is I don't hold myself to one
6 definition or another. I keep that range in
7 mind --

8 Q Okay.

9 A -- and I use that in individual clinical
10 situations.

11 Q Okay.

12 A. I don't reference that as to a large woman. I
13 keep that range in mind that's been set by
14 studies that have been done in the past.

15 Q. The reason I ask that question is because you
16 said that the woman's habitus could cause you to
17 feel that in one case perhaps 4,000 grams could
18 constitute macrosomia, whereas in another it
19 might not. I was trying to figure out what you
20 meant by habitus. I didn't know if you meant
21 weight or --

22 A- I was referring more to her pelvis.

23 Q. In any event, as of your first involvement in
24 her care, do you think it was likely that you
25 would have been aware that there was a

4
2
3
4
5
6
7
8
9
10
11
12 Q. I need you to say yes, please.

13 A. Yes.

14 Q. This is part of the clinical resume that you
15 prepared, correct?

16 A. Yes.

17 Q. Is it likely that as of 3-14-95 at 0800 when you
18 became involved in Monica Dixon's care, that you
19 were aware that one of her obstetrical risks
20 included evidence of macrosomia?

21 A. There was a suspicion that she may have
22 macrosomia. There was no documentation to prove
23 that she had macrosomia.

24 Q. And that's something that you likely would have
25 been aware of as of your first involvement an

her care?

A. What is likely that I would have been aware of?

Q. That there was a suspicion of macrosomia, as you just described?

A. Yes.

Q. Okay. As of 3-14-95, were you aware that her pelvis had not been tested by previous deliveries?

A. I was aware that this was her first pregnancy.

Q. Okay. Good. Was a sonographic estimated fetal weight ever done with this patient?

A. I don't know the answer to that.

Q. You don't know?

A. I don't know the answer.

Q. There's something I forgot to ask you earlier when I was asking you questions about certain information that you may have had prior to 3-14-95, and that is: Did you review any information in preparation for this evening's proceeding?

A. I reviewed the medical records.

Q. Did you review anything else?

A. No, just the medical records.

Q. Okay. I would like you to refer again to the discharge summary to -- right toward the lower

1 third of the page where you're talking about
2 vaginal examination showed patient to be 4 to 5
3 centimeters dilated, 100 percent effaced, 0 to
4 plus 1 station?

5 A. Yes.

6 Q. Do you see that?

7 A. Yes.

8 Q. What I *need* to know is if this s
9 reads here, implies fetal pelvic

10 A. No.

11 Q. It does not?

12 A. It does not.

13 Q. Okay. I would like to refer you to your first
14 progress note if I could that we've been talking
15 about: 3-14-95, 0800.

16 First of all, could you read the part
17 under your objective findings starting with
18 "VS."

19 A. Vital signs: 37.6, 122, 94/56; vaginal exam 8
20 to 9; 100 percent; caput plus 2; head 0 to plus
21 1.

22 Q. Can I stop you there. What does "8 to 9" mean?

23 A. 8 to 9 centimeters dilated.

24 Q. What does "100 percent" mean?

25 A. 100 percent effaced.

1 Q. What does "effaced" mean?

2 A. Shortening and thinning of the cervix.

3 Q. Okay. Next "caput"?

4 A. Caput.

5 Q. Caput. What does that mean, caput plus 2?

6 A. That means molding of the tissues of the head,
7 and plus 2 is the station at which those are
8 felt at.

9 Q Okay. And then next is "0"?

10 A 0 to plus 1 station.

11 Q Okay. And I'm assuming that that means that
12 the head was --

13 A. The bones of the head were felt at 0 to plus 1
14 station.

15 Q. When you were determining station at this point
16 at 0800, I mean, did you do that yourself or did
17 someone else do that and you recorded this?

18 A I would assume that I did that exam.

19 Q Do you recall that?

20 A. No, I do not recall that.

21 Q Okay. By the way, do you recall this particular
22 patient as you sit here?

23 A No.

24 Q Do you recall anything about her?

25 A No.

1 Okay. I would like you to refer to -- on the
2 far left side where it indicates "scalp gas" and
3 there is -- there are numerals recorded there.
4 Do you see that?

5 A. Uh-huh.

6 Q. It says "scalp gas 7.22"?

7 A. Yes.

8 Q. That's a marginal scalp gas, isn't it?

9 A. A scalp gas of 7.20 to 7.25 warrants a repeat
10 within 20 to 30 minutes.

11 Q. Okay. Well, the reason I said marginal is
12 because I thought that's what you had indicated
13 in your note.

14 A. It's a borderline value that would indicate a
15 repeat gas is needed in 20 to 30 minutes.

16 Q. All right. I guess my question was just 7.22 is
17 a marginal scalp gas?

18 A. Yes.

19 Q. Okay. And then you've indicated that a marginal
20 scalp gas is defined as having a value of
21 between 7.20 and 7.25 which warrants a repeat in
22 15 to 20 minutes?

23 A. 20 to 30 minutes.

24 Q. 20 to 30 minutes. And that was what I was going
25 to get at. I wanted to find out what the range

1 was for a marginal scalp gas, because I noticed
2 that -- if you back up, there are a couple of
3 notes preceding yours, and I assume at
4 0700 hours -- this is Laszlo Sogor's (phonetic)
5 note?

6 A. Uh-huh.

7 Q. Do you see that?

8 A. No, that's not Laszlo Sogor's note.

9 Q. Do you know whose note that is?

10 A. This one?

11 Q. Yes.

12 A. Are you looking at 700 hours?

13 Q. Yeah, 700 hours.

14 A. I believe that's Dr. Segal's signature.

15 Q. Oh, Dr. Segal. What's -- S-e-g a-l. What's
16 Dr. Segal's first name?

17 A. Jeffery.

18 Q. Dr. Segal, he indicates there that there's a pH
19 value of 7.23. Do you see that?

20 A. Yes.

21 Q. And he says there was a previously reassuring
22 pH, which I assume he was referring to the one
23 above which was 7.27. Would you agree with
24 that?

25 A. I would agree with that.

1 Q. And he's saying that although 7.27 may be
2 reassuring, 7.23 certainly is not. Is that what
3 that seems to indicate?

4 A. I don't know if that indicates that.

5 MR. NORCHI: I will just object to the
6 question, because you're asking her to comment
7 on what somebody else's thought process was.

8 BY MR. CULLERS:

9 Q. Did you review that note or is it likely that
10 you would have reviewed that note when you
11 entered the scene on 3-14-95 at 0800 to find out
12 what was going on with the patient?

13 A. Not necessarily.

14 Q. You wouldn't have gone back to see what the
15 previous scalp gas -- scalp gasses would have
16 been?

17 A. It may have been reported in rounds that
18 morning, but I would not necessarily have went
19 back and read the note.

20 Q. All right. Would you look at your operative
21 note, please. Actually there are two. There's
22 one that you prepared, I believe, and then
23 there's one that you prepared for Dr. Austin.
24 This is the one that I'm referring to.

25 A. Sure.

1 Q. Do you have that?

2 A. Yeah, I have it.

3 Q. Okay. This is a document that you prepared?

4 A. Yes. That's my signature.

5 Q. And this is your writing up here?

6 A. Yes.

7 Q. Okay. If you would look, please, at the
8 sequential scalp gasses indication on this,
9 which is in the second line down.

10 A. Uh-huh.

11 Q. Do you see those?

12 A. Yes.

13 Q. There are five scalp gasses indicated there?

14 A. Yes.

15 Q. Would your progress note of 3-14 at 0800, which
16 recorded a scalp gas of 7.22, refer to what
17 would be the fourth scalp gas that's listed on
18 your operative note?

19 A. Yes.

20 Q. Okay. Why were the scalp gasses being obtained
21 as of the time that you became involved when
22 the -- when the scalp gas was 7.22?

23 A. The scalp gas was obtained, when I had done a
24 first scalp gas based on the medical record,
25 because it was being a repeat from one that was

1 previously done, which was borderline, and
2 because of the fetal tracing.

3 Q. All right. When you say "because of the fetal
4 tracing," what do you mean?

5 A. All I have is my notes. I don't have the fetal
6 tracing to review. But there was evidence of
7 some fetal tachycardia and some late
8 decelerations.

9 Q. Is this evidence of some distress?

10 A. This may be indicative of distress.

11 Q. Okay. Did you obtain any reassurance with the
12 scalp pH values which eased your concern that
13 there was fetal distress?

14 A. The scalp gas essentially told me that there was
15 no further deterioration compared to the one
16 that was done previously which was 7.23.

17 Q Is **the** reason that the scalp gasses were
18 obtained because prior to delivery it appeared
19 as if the fetus was becoming compromised?

20 A. The scalp gasses were -- the scalp gas that I
21 obtained was the scalp gas done for the reasons
22 I've already stated. I cannot state why other
23 people did their scalp gasses prior to me.

24 Q. Would you refer to the next page, the operative
25 report you prepared for Dr. Austin.

- 1 A. Yes.
- 2 Q. Would you refer to your clinical note and refer
- 3 to the last sentence of that.
- 4 A. Uh-huh.
- 5 Q. Could you read that for me, please.
- 6 A. "She was able to push and brought the baby down
- 7 to plus 3 station; however, at that time the
- 8 fetus appeared to be becoming compromised and an
- 9 operative vaginal delivery was indicated."
- 10 Q. Is part of the reason that the fetal scalp
- 11 gasses were obtained is because it appeared that
- 12 the fetus was becoming compromised? That's what
- 13 I was asking earlier.
- 14 A. Based on the clinical notes prior to this, what
- 15 I can tell you is the fetal scalp gas that I did
- 16 was obtained because of a prior borderline scalp
- 17 gas and because the tracing was not reassuring
- 18 at that given time.
- 19 Q. Okay. Why did you write in your clinical note
- 20 on the operative report that you prepared for
- 21 Dr. Austin that "the fetus appeared to be
- 22 becoming compromised"? What was the basis of
- 23 your writing that?
- 24 A. Based on the clinical record, I would say that
- 25 that was based on the fact that we did have

1 consecutive borderline scalp gasses, that there
2 was fetal tachycardia, and there were some
3 decelerations.

4 Q. How was the scalp gas obtained?

5 A. I don't understand your question.

6 Q. How do you obtain these readings? Do you stick
7 a hole in the baby's head?

8 A. The patient is placed in a dorsal lithotomy
9 position. There is the cone inserted into the
10 vagina. The baby's scalp is cleansed. And
11 there is a small blade on the end of the
12 instrument that is used to put a tiny puncture
13 in the tissue of the scalp, through which a
14 small drop of blood is obtained in the capillary
15 tube, which is then taken to a machine and ran
16 through the machine and it gives us the number
17 of the pH.

18 Q. All right. At any point prior to the time that
19 it was determined that an operative vaginal
20 delivery was appropriate, was there any concern
21 on your part that the fetus was becoming
22 compromised?

23 A. The actions that the medical record indicate
24 show that there was some concern because the
25 scalp gasses were performed.

1 Q. Okay. So there was some concern that the fetus
2 was becoming compromised?

3 A. There -- the medical record indicates that we
4 were observing the patient closely and the fetal
5 tracing closely, and we were doing repetitive
6 scalp gasses because of the values we had
7 obtained previously.

8 Q. And that was out of concern that the fetus may
9 be becoming compromised?

10 A. That was out of concern that the tracing was not
11 reassuring.

12 Q. And if it's not reassuring, what does that
13 mean? What did it mean to you?

14 A. If it's not reassuring, that means it needs to
15 be investigated further, which is why the scalp
16 gasses were done.

17 Q. Why does it need to be investigated further?
18 What are you looking for? What are you trying
19 to find out?

20 A. You're trying to find out if there's any
21 indication if the fetus is having any kind of --
22 any kind of in utero complications.

23 Q. Okay. Do you have an opinion as to how many
24 consecutive marginal scalp gasses there have to
25 be before a C-section is appropriate?

1 MR. NORCHI: Can you -- I'm sorry. Can
2 you repeat it?

3 MR. CULLERS: Yeah. That's fine.

4 MR. NORCHI: I think I would object to
5 it only because you're asking her expert opinion
6 questions and she's a fact witness here, because
7 she was a resident at the time answering to
8 other people so --

9 MR. CULLERS: I'm just asking if she's
10 got an opinion. Let me re-ask the question.

11 BY MR. CULLERS:

12 Q. Earlier you indicated that a marginal scalp gas,
13 which is a value between 7.20 and 7.25, warrants
14 a repeat in 20 to 30 minutes. Do you recall
15 that?

16 A. Yes.

17 Q. Does the fact that there are consecutive scalp
18 gasses indicate anything further that you need
19 to do aside from taking another scalp gas in 20
20 to 30 minutes?

21 MR. NORCHI: You mean regardless of
22 value?

23 MR. CULLERS: No.

24 BY MR. CULLERS:

25 Q. If -- all right. If there is a -- if there are

1 let's say two consecutive marginal scalp gasses,
2 marginal being between 7.20 and 7.25, is there
3 anything that that then requires you to do
4 that's different than simply repeating the test
5 in 20 to 30 minutes?

6 A. It depends on the individual case.

7 Q. Okay. In this -- is there ever a situation
8 where two consecutive borderline scalp gasses
9 can require a C-section?

10 A. Is there ever a case?

11 MR. NORCHI: Objection.

12 BY MR. CULLERS:

13 Q. Yes.

14 MR. NORCHI: Objection. Romney,
15 that's -- anything is possible. It's just a
16 difficult question. I don't know if you can
17 answer it or not.

18 BY MR. CULLERS:

19 Q. Here's what I'm getting at, okay, so let me just
20 explain my thought process here and maybe that
21 will help us: I'm trying to figure out if the
22 fact that there are consecutive borderline scalp
23 gasses is significant in any way. That's all.

24 In other words, I understand that if
25 you see one borderline scalp gas, it then

1 triggers an obligation on your part to repeat it
2 in 20 to 30 minutes. What I need to know is:
3 Is it significant that there are consecutive
4 borderline scalp gasses?

5 A. This scenario would not be unusual for a patient
6 at this point in her labor process --

7 Q. Okay. Why is that?

8 A. -- who is 8 to 9 centimeters dilated and close
9 to delivering vaginally.

30 Q. Can you explain why?

11 MR. NORCHI: Do you understand the
12 question? If you do, go ahead.

13 THE WITNESS: This value would be
14 different if it was with a patient who was
15 earlier in her labor process.

16 BY MR. CULLERS:

17 Q. All right.

18 A. Because if the fetus is beginning to get
19 compromised or beginning to not tolerate labor,
20 then you wouldn't -- they would be further away
21 from a vaginal delivery. If this was with
22 somebody who was 2 centimeters dilated, it's
23 very different than if it's somebody who is 9
24 centimeters dilated.

- 25 Q. Because if they're 9 centimeters dilated,

1 they're closer to the delivery time?

2 A. Exactly.

3 Q. All right. In this situation involving Monica
4 Dixon where she has these scalp pH values of
5 7.27, 7.23, then another one at 7.23, then at
6 7.22, then at 7.25, does this situation --
7 forget it. Strike the question.

8 In Monica Dixon's case, is there
9 anything about these consecutive scalp gas
10 values that raised any concerns on your part
11 that a C-section would be indicated?

12 A. No.

13 Q. No?

14 A. No.

15 Q. Okay. And that's because she was 8 to 9
16 centimeters dilated?

17 A. And the scalp gasses were maintaining.

18 Q. All right. Do you have an opinion as to what
19 the standard of care is after two marginal scalp
20 gasses -- after two consecutive marginal scalp
21 gasses are obtained? Does that mere fact
22 indicate anything that needs to be done pursuant
23 to the standard of care?

24 MR. NORCHI: I'm going to object. It's
25 been asked and answered. I thought we've

1 already gone through that. Are you going to
2 change your answer from before?

3 THE WITNESS: No. My answer is the
4 same.

5 MR. NORCHI: Romney, it was asked and
6 answered already.

7 MR. CULLERS: I didn't -- I mean, maybe
8 I just -- I'm not trying to be repetitive. I
9 just -- maybe I missed it in some of the
10 confusion.

11 MR. NORCHI: There's no confusion. She
12 said based upon the patient who is at this
13 particular stage in her labor, given the other
14 things you're finding on the fetal monitor
15 strips and all the other factors that are going
16 on, they did what -- they met the accepted
17 standard of care. Now, I mean -- and then --
18 that's one issue.

19 The other thing is she's not an expert,
20 she hasn't been identified as an expert, and she
21 didn't make treatment decisions here.

22 MR. CULLERS: First, she doesn't have
23 to be identified as an expert for me to ask if
24 she has opinions. I mean, she's obviously
25 trained.

1 MR. NORCHI: I understand. I'm not
2 going to tell her not to answer. I'm just
3 telling you the problem here.

4 MR. CULLERS: I understand.

5 BY MR. CULLERS:

6 Q. I guess the question I had was a little
7 different than what you described. I just
8 wanted to know if you have an opinion as to
9 whether this -- just the fact that there are two
10 marginal scalp gasses that are obtained, if that
11 fact alone requires any particular action on
12 your part?

13 A. It requires observation for this particular
14 patient at this stage of labor.

15 Q. All right. I want to refer you again to your op
16 note, which is the one that was handwritten.
17 We've already talked about the scalp gasses.
18 What I would like to do is start at the line
19 after the scalp gasses are indicated and read
20 that for me.

21 A. "Patient became complete 100 percent plus 1.
22 Pushed and able to bring baby to plus 3
23 station. Direct OA."

24 Q. Okay. Stop there. I want to ask you about the
25 determination of station. First of all, if you

1 wrote this, is it likely that you would have
2 been the person who determined station?

3 A. Yes, and it is also likely that somebody else
4 also performed the exam with me.

5 Q. Okay. Explain that, what you mean

6 A. Being a second year resident, I am under the
7 direct supervision of my chief resident and the
8 attending physician.

9 Q. Who was the chief resident?

10 A. Dr. Goldfarb.

11 Q. And the attending was Dr. Austin?

12 A. Yes.

13 Q. In this situation where you're determining
14 station, when you say it was likely that it
15 would have been -- did you say supervised or did
16 you say confirmed? I'm not sure what you said.
17 I want to get it right.

18 A. In this situation, because an operative vaginal
19 delivery was performed, it is likely that it was
20 confirmed by the chief resident.

21 Q. Okay. Do you recall, having now read this,
22 determining station in this situation?

23 A. I don't recall performing the exam. Is that the
24 question?

- 52 Q. Yes. you don't recall performing the exam which

1 led to the information that the baby was at plus
2 3?

3 MR. NORCHI: Just so the record is
4 clear, she doesn't remember the delivery and the
5 patient generally.

6 MR. CULLERS: Right. I know.

7 THE WITNESS: All I have that I -- all
8 I have is what I have written in this note.

9 BY MR. CULLERS:

10 Q. Okay. That's why I'm asking you if you remember
11 it, and if you don't, that's -- I understand
12 that. And you don't?

13 A. No, I don't remember it. I'm sorry.

14 Q. All right. Let me see if I can do this. As of
15 that time, as of 3-14-95 when you were
16 determining station, how did you do it?

17 A. With a vaginal exam.

18 Q. All right. Explain that. Give me the details
19 here.

20 A. A bimanual vaginal exam, which determines how
21 far the head is below the pelvic spines.

22 Q. You place your finger inside the vagina?

23 A. Place two fingers inside the vagina.

24 Q. Do you use your right hand, left hand on this?

25 A. I use my right hand.

- 1 Q. On your right hand, these two fingers?
- 2 A. Yes.
- 3 Q. And when you're determining station, tell me how
- 4 you do it.
- 5 A. You feel the relationship between the fetal --
- 6 the presenting part of the fetal head to the
- 7 ischial spines.
- 8 Q. All right. Which -- when you say "the
- 9 presenting part of the fetal head" --
- 10 A. The lowest part of the head.
- 11 Q. Which is?
- 12 A. Whatever the lowest part of the baby's head is
- 13 in the canal.
- 14 Q. How do you know when you're there.
- 15 A. I don't understand the question.
- 16 Q. How do you know when you -- I mean, I understand
- 17 you feel for the ischial spines.
- 18 A. Uh-huh.
- 19 Q. All right. And then tactilely you have to feel
- 20 for the presenting part of the baby's head?
- 21 A. Yes.
- 22 Q. How do you know when you've found it?
- 23 A. You have to feel the lowest part of the head in
- 24 relationship to the spines. It's the first part
- 25 of the head you feel when you go into the

1 vagina. It's the lowest part, the first part
2 you touch, and its relationship to the spines.

3 Q. How do you know that you're touching bone?

4 A. You can feel the bones of the skull.

5 Q. And that's what you feel for?

6 A. Uh-huh.

7 Q. Okay. After you -- after you -- your note where
8 it says "direct OA," could you pick up and read
9 from that point forward.

10 A. "Second-degree episiotomy cut."

11 Q. Stop there. I'm sorry. Can you tell from
12 looking at the record when you made the
13 determination that she was at plus 3? Is there
14 any way to do that?

15 A. Time?

16 Q. Yes.

17 A. No.

18 Q. Or not necessarily time, but in relation to some
19 other event? For example, if you look at your
20 physician progress note, at 8:30, 3-14 at 8:30
21 you're talking about she's starting to push.
22 She's at plus 1. 100 percent effaced. Plus 1.
23 And then somehow she gets to plus 3. This is at
24 8:30. Is there some way you can narrow down
25 when she got to plus 3?

1 A. Sometime between 8:30 and delivery.

2 Q. There's no other way?

3 A. There's no other way.

4 Q Is there anything that I could look at in the
5 record which would indicate where that is?
6 Because it's not recorded in your progress
7 note. I looked in the nurse's note and I don't
8 see it.

9 A There's nowhere else it would be.

10 Q The nurses wouldn't record it, would they?

11 A Probably not in the middle of the delivery.

12 Q. That would be something that you would record
13 anyway?

14 A. And the reason it's not recorded with the time
15 is because we were probably gowned to do a
16 delivery, so it's not recorded in a
17 sequential -- like time sequential event.

18 Q. Okay. I just wanted to know if there was some
19 way to determine when she was at plus 3?

20 A. If it's not in the nurse's notes, there's
21 nowhere else it would be.

22 Q. I'm trying to -- all right. Let's move on to
23 the issue of the second-degree episiotomy. It's
24 my understanding that, based on information
25 that's farther down in the note, that the

1 second-degree episiotomy also had an
2 accompanying perirectal extension. Do you see
3 that? It's at the bottom.

4 A. Uh-huh.

5 Q. What is a perirectal extension?

6 A. Where the episiotomy goes down to the level of
7 the -- around the level of the rectum, but it
8 doesn't extend into the rectum; so it's not a
9 fourth-degree episiotomy. It hasn't torn
10 through the rectal/vaginal wall, and it hasn't
11 necessarily -- it hasn't -- from this I can't
12 tell, but it hasn't even necessarily gone
13 through the sphincter.

14 Q. That's what I didn't understand when I read
15 this, because it was my understanding that --

16 A. It's probably --

17 MR. NORCHI: Slow down.

18 THE WITNESS: Go ahead.

19 BY MR. CULLERS:

20 Q. It was my understanding that a second-degree
21 episiotomy does not at all involve either the
22 rectal sphincter or rectal tissue, whereas a
23 third-degree episiotomy does, and I didn't
24 understand why it was classified as a
25 second-degree episiotomy as opposed to a

1 third-degree episiotomy?

2 A. It probably went to that level but didn't
3 classify -- it probably did not go into the
4 sphincter, and I'm surmising this based on --
5 based on this because that's all I have to --

6 Q. Right. Well, if it would have been an
7 episiotomy that did, in fact, go into the rectal
8 sphincter, meaning that the rectal sphincter was
9 severed, don't you think you would have put
10 third-degree episiotomy versus second-degree
11 episiotomy?

12 A. If the sphincter is torn or severed, then it's
13 considered a third-degree episiotomy; so this
14 probably went up to that level but didn't go
15 into the sphincter. It's probably better to say
16 perianal versus perirectal.

17 Q. So from looking at this, I mean, you can
18 comfortably tell me that it probably didn't
19 invade the rectum or the -- I'm sorry, the
20 rectal sphincter?

21 A Yes.

22 Q Okay. That's what I wanted to know. Now, what
23 I would like to know is, first of all, if you
24 recall cutting the episiotomy?

25 A Bo.

Q. Do you recall your normal procedure when you performed an episiotomy and how you went about doing it as of 3-14-95?

A. I don't understand the question.

Q. For example, do you have a particular instrument that you use? Do you use a scissor or do you use a scalpel?

A. You use a scissor.

Q. All right. And you don't remember doing this on this particular day?

A. No.

Q. Okay. What I want to know now is if you can tell me when the episiotomy was cut in relation to the application of the Mityvac?

A. There's no way to know that from this note.

Q. From what it appears in reading this note is that it's placed at least before the area where you start talking about the Mityvac?

A. Yeah, but that doesn't mean it wasn't done at the same time, that the Mityvac was applied and the episiotomy was cut.

Q. Okay. The reason that this -- the question was raised is because it looks like you intended to write that in but you didn't, and then you went back and put a caret and wrote it in. Do you

1 see that?

2 a. Yes.

3 Q Do you remember doing that?

4 A Do I remember adding that in there?

5 Q. Yes.

6 A No, I don't remember writing that in there.

7 &- Would you please look at the nurse's notes up at
8 3-14 at 8:53.

9 A Okay.

10 Q Do you see the note where it reads, "Dr. Austin
11 present for delivery, push/pull with vacuum"?

12 A Yes.

13 Q Does that mean that the vacuum is being applied
14 at 8:53?

15 A, I don't know the answer to that

16 Q. Okay. Because then obviously the next note
17 three minutes later at 8:56 indicates --

18 A. "The episiotomy was cut."

19 Q. -- "the episiotomy was cut by Dr. Krivetsky."
20 The reason I referred you to this is because I
21 need to know if this in some way can help you
22 identify whether the episiotomy was cut before
23 the vacuum was applied or afterward?

24 MR. NORCHI: If you don't, that's fine.

25 THE WITNESS: I don't know. All I have

1 A. You have to understand, I am following the
2 auspices of basically the chief resident and the
3 attending physician in the room, so I am doing
4 what I am instructed to do.

5 Q. I'm going to ask you some questions about
6 that --

7 A. Okay.

8 Q. -- in particular, but I take it from your answer
9 that at least the decision to do the episiotomy
10 isn't something that you can recall making?

11 A. No, it's not.

12 Q. What I was getting at is I wanted to know what
13 the considerations were that formed part of that
14 decision, and you can't tell me that?

15 A. Correct.

16 Q. By the way, up to this point who was it -- who
17 was with you in the room by way of physicians?
18 Was Dr. Goldfarb there and was Dr. Austin there?

19 A. My note on 3-14-95 at 8:00 in the morning
20 indicates that Dr. Goldfarb was in the room with
21 me, and the nurse's notes from 8:31 indicate
22 Dr. Goldfarb was in the room.

23 Q. Who's "Hoyt"?

24 A. Oh, that's the anesthesia attending.

25 Q. Okay. And then?

1 A. And then at 8:39 it says Dr. Goldfarb was in the
2 room; 8:46 it says Dr. Goldfarb and I are in the
3 room; and then 8:53, Dr. Austin was with us too.

4 Q. Who is Dr. Anthony who is down in the 9:00 note?

5 A. Dr. Anthony was an intern at that time.

6 Q. In other words, a first year resident?

7 A. First year resident.

8 Q. And then "Girrard" is a certified nurse midwife?

9 A. Yes.

10 Q. Do you know what "H" stands for?

11 A. Heather.

12 Q. Okay. I take it from some of the testimony you
13 gave earlier that you're not the person who was
14 making the decision about what was going to be
15 done here?

16 A. Correct.

17 Q. All right. Who was the person who was making
18 the decisions, at least up until the point where
19 the episiotomy was cut and the Mityvac applied?
20 Who was doing that?

21 A. As a second year resident, I would make an
22 assessment, then I would notify my chief
23 resident who would confirm my assessment, and
24 then together with the attending physician
25 develop a plan.

1 Q. All right. And you don't recall **any** of that --

2 A. I don't recall --

3 Q. -- analysis here?

4 A. -- the specifics of any of that

5 Q. All right. I asked you earlier why wasn't the
6 episiotomy cut through to the rectum, you said
7 you didn't know, and that's what triggered all
8 this discussion about who was making the
9 decisions.

10 A. Uh-huh.

11 Q. Do you recall having any discussion, like what
12 you just described with the chief resident and
13 with the attending, about the decision to cut
14 the episiotomy at all?

15 A. I don't recall having those discussions.

16 Q. Okay. Do you recall any discussions to **the**
17 effect that the episiotomy needed to be cut
18 because there was a suspected shoulder dystocia
19 complication?

20 MR. NORCHI: I assume you're trying to
21 jog her memory with these?

22 MR. CULLERS: Yeah.

23 THE WITNESS: I don't recall any -- I
24 don't recall this patient. I don't recall any
25 of the delivery.

1 BY MR. CULLERS:

2 Q. All right. So I take it that you don't remember
3 anything having to do with shoulder dystocia
4 being a reason why the episiotomy was cut?

5 A. That's correct.

6 Q. All right. Let's get back on your operative
7 note where you indicate here, "Mityvac applied
8 and head delivered." I want to ask you about
9 that.

10 A. "Mityvac applied and head delivered."

11 Q. All right. Do you know how long the Mityvac was
12 applied before the head was delivered?

13 A. Are you referring to how many attempts were made
14 with the Mityvac to deliver the head, or are you
15 referring to how many seconds the Mityvac was
16 applying suction to the baby's head at any given
17 particular push/pull event?

18 Q. If you can give me information with respect to
19 either of those, either would be fine. First of
20 all, how do you do it? When you're using the
21 Mityvac, tell me how you'd use it. You said
22 attempts versus number of seconds that traction
23 is being applied. Explain to me --

24 MR. NORCHI: I'm thoroughly confused,
25 Romney. You want to understand how you apply --

1 how the Mityvac is used?

2 BY MR. CULLERS:

3 Q. You make the distinction --

4 A. A suction cup device is applied through the
5 vagina to the baby's head, not over fontanel,
6 and during a contraction it is connected to a
7 device that applies pressure, a suction to the
8 baby's head. It is applied during the time of
9 the contraction; and at that time while the
10 patient is pushing, you apply pressure and are
11 pulling back.

12 Q. All right. So it would be more --

13 A. That's why it's called a push/pull, because the
14 patient is pushing and you're pulling.

15 Q. It would be more informative if you could tell
16 me how many times it was used versus how
17 many seconds it was used, if you could tell me
18 that.

19 A. According to the nurse's note, it appears that
20 there was a push/pull at 8:53, at 8:56 and at
21 8:59.

22 Q. So it sounds like there were three push/pulls?

23 A. Yes.

24 Q. Is there any way to know how long those
25 occurred, each of those push/pulls?

1 A. No.

2 Q. Okay. Who was operating the Mityvac?

3 A. Which part of the Mityvac?

4 Q. All right. How about the part -- all right.

5 A. Sorry.

6 Q. That's all right. No, that's all right. What
7 I'm concerned with is the person who's applying
8 the traction. All right.

9 A. Okay.

10 Q. And I assume that there's some part of the
11 Mityvac device which is the -- whoever the
12 surgeon is, is able to apply to the head and
13 somehow can gauge the traction that's being
14 applied; is that accurate?

15 A. Uh-huh.

16 Q. Is that true?

17 A. Yes.

18 Q. Who was doing that?

19 A. That -- according to these notes, it would have
20 been myself and Dr. Goldfarb.

21 Q. All right. Do you recall anything at all about
22 the traction that was applied to this baby's
23 head?

24 A. No, I don't recall anything.

25 Q. You don't?

1 A. No.

2 Q. All right. Do you recall what your normal
3 procedure in using the Mityvac to apply traction
4 was back in March of 1995?

5 A. What specifically are you asking?

6 Q I'm asking, you know, what you typically did
7 when you used it? I know that you put it up
8 through the mother's vagina and somehow it
9 attaches to the baby's head, and then do you
10 turn it on? Is there a switch on it that you
11 can operate?

12 A. No, it's connected to the device --

13 Q. Okay.

14 A -- that generally the nurse has in her hand that
15 pumps up the pressure on the vacuum when you
16 tell her to do that during a contraction, and
17 then she has the release for that also.

18 Q. You have to tell her to do that?

19 A Yes.

20 Q And then it comes on and then it adheres to the
21 baby's head?

22 A. Yes.

23 Q And then when the traction is applied, that's
24 actually the force of your hands pulling on the
25 device?

1 A. In combination with the mother pushing.

2 Q. Okay. Back in March of '95 when you would use
3 this, is there some way you can describe for me
4 how you applied the traction when you would use
5 the Mityvac in a given situation?

6 A. I don't recall this delivery.

7 Q. Okay. I'm talking about generally back in
8 March. Since you don't -- strike that. Since
9 you don't recall this particular delivery, I'm
10 asking you if you recall what your, you know,
11 general custom was or your general practice was
12 when you used the Mityvac back then?

13 A. I don't understand the question, because we've
14 already talked about how a Mityvac is applied,
15 and there's nothing else -- there's nothing else
16 to describe to you.

17 Q. All right. What do you do? I mean, you have
18 ahold of it somehow.

19 MR. NORCHI: What does the second year
20 resident do at that time when it's being
21 applied?

22 MR. CULLERS: Yeah.

23 MR. NORCHI: It looks like Dr. Austin
24 is applying it. I don't know if she was
25 assisting with the vacuum. I don't know.

1 THE WITNESS: No, she probably would
2 not have applied it. I mean, the general
3 practice is you apply the vacuum to the baby's
4 head when the position is confirmed.

5 BY MR. CULLERS:

6 Q. Right.

7 A. And then at the time of the contraction, it
8 is -- the pressure is increased to apply the
9 suction, and then as the patient is pushing it's
10 guided with your hand -- with your two hands,
11 holding and pulling with the suction.

12 Q. All right. And you actually pull on it?

13 A. Yes.

14 Q. Do you recall how much force you applied when
15 you were using the Mityvac in this particular
16 situation?

17 A. No.

18 Q. All right. Is there any way you can tell me
19 typically back during this time period, March of
20 '95, how much force you would have used when
21 you used the Mityvac when you applied traction?

22 A. I couldn't give you a number.

23 MR. NORCHI: Is there a way to
24 generally describe the type of traction that's
25 applied? Is it a gentle traction?

1 THE WITNESS: It's a gentle traction;
2 gentle, steady traction.

3 BY MR. CULLERS:

4 Q. How do you know if it's gentle, just by your
5 experience and just by the way it feels or --

6 A. Yes.

7 Q. Is that it? Is that how you do it?

8 A. I mean, there's nothing that has -- you know,
9 that's measuring how much pressure you're
10 pulling on -- I mean, there's not a gauge that's
14 measuring out how much pressure you're applying,
12 if that's what you're asking.

13 Q. But you can just feel it?

14 A. It's a feel.

4^E Q. All right. Being in the position that you were
16 as a second year resident in this instance where
17 traction was being applied with the Mityvac and,
18 you know, there are other people around, you
19 know, where are they and what are they doing?

20 A. I can't tell you specifics to this case. I can
21 tell you how things generally went, if that's
22 what you want to know.

23 Q. That's what I would like to know.

24 A. Generally, prior to any operative vaginal
25 delivery being performed, the chief resident --

1 the second or third year resident on the floor
2 reports to the chief resident. The chief
3 resident assesses the patient and will make the
4 determination as to where to go in that
5 situation.

6 Once it's been determined to do an
7 operative vaginal delivery, then typically the
8 people that would be gowned for that procedure
9 and gloved for that procedure would be that
10 second or third year resident and the chief
11 resident, and then the attending physician would
12 be in the room.

13 MR. CULLERS: Off the record.

14 (Thereupon a discussion was held off
15 the record.)

16 (Thereupon, a recess was taken, after
17 which the deposition continued as follows:)

18 BY MR. CULLERS:

19 Q Where we left off, I was asking you about who
20 would have been around and who would have been
21 doing what at the time that the Mityvac was
22 being applied in this particular situation. You
23 indicated you don't remember much about this
24 specific instance; however, generally back
25 around that time frame, the second and third

1 year residents would be gowned for the procedure
2 and then the attending would be in the room. Do
3 you remember that -- saying that stuff?

4 A. The second and third year residents would be
5 gowned with the chief resident, and the
6 attending would be in the room.

7 Q. The chief resident?

8 A. Is a fourth year resident.

9 Q. Who was the third year resident in this
10 situation, if there was one?

11 A. There wasn't one at this time.

12 Q. Okay. Now, when the Mityvac would have been
13 being applied in this situation, you said it was
14 likely that you were operating it. Where were
15 the other people? Where was the attending and
16 where was the third year resident? -- or the
17 chief resident, I mean.

18 A. I don't -- I can't tell you where everybody was
19 specifically in this case, and it's not
20 indicated specifically in the medical record.
21 What I can generally tell you is an operative
22 vaginal delivery would be done in combination
23 with the second or third year resident and the
24 chief resident, so it would be a combination of
25 myself and Dr. Goldfarb that would be doing the

1 vacuum delivery.

2 Q. Whose hands are where doing what while the
3 vacuum --

4 A. I don't know whose hands were where in this
5 case, because it doesn't indicate that.

6 Q. All right. In a typical situation back then
7 when someone would have been operating a
8 Mityvac, where would the other person's hands
9 have been and what would they have been doing?
10 That's what I'm trying to figure out. Helping?

11 A. Yeah. It would depend on the scenario. One
12 person's hands might be on the vacuum and the
13 other person's hands might be guiding them. One
14 person might be doing the vacuum and the other
15 one is supporting the perineum or cutting the
16 episiotomy. It depends on the individual
17 situation.

18 Q. That's what I wanted to know. I would like you
19 to go to your progress note at 8:30. The last
20 thing you've written in your plan section of
21 your note, "consider attempted operative vaginal
22 delivery." Do you see that?

23 A. Yes.

24 Q. Do you recall writing that?

25 A. No.

1 Q. Do you recall what your thought process was when
2 you wrote that down?

3 A. No.

4 Q. According -- well, according to this record
5 here, you made this note at 8:30; is that
6 correct?

7 A. Yes.

8 Q. And if you look at the nurse's notes where the
9 Mityvac is indicated as first being used is at
10 8:53. Do you see that?

11 A. Uh-huh.

12 Q. I need you to say yes.

13 A. Yes.

14 Q. That's 23 minutes later, right?

15 A. Yes.

16 Q. During that period of time, do you recall
17 anything about what was going on with this
18 patient?

19 A. No.

20 Q. At any point up to the point where the Mityvac
21 was being applied for the first time according
22 to the nurse's notes, do you recall anyone
23 involved in this patient's care suggesting that
24 a C-section may be needed?

25 A. I don't recall that.

Q. If you would go back to your operative note again. Where you were reading from earlier was the part where it says "Mityvac applied and head delivered."

A. Yes.

Q. Do you remember anything about the head being delivered?

A. No.

Q. So you can't tell me when the baby's head came out whose hands were on the baby's head?

A. No, I don't recall that.

Q. Is there any way you can look at the record and determine anything specific about the baby's head being delivered other than the fact that it was delivered?

A. I don't know what you're asking. I'm sorry.

Q. In other words -- well, I'm just asking if there's anything in particular that you remember or that you can look at the record to find which would give any specific information about the delivery of the baby's head?

MR. NORCHI: You mean like where people were positioned and had --

MR. CULLERS: Anything at all?

MR. NORCHI: How about times of

1 delivery?

2 BY MR. CULLERS:

3 Q. If you refer to the nurse's note, you can see
4 that at 9:00, according to the nurse's note, the
5 baby's head is out.

6 A. I saw that on the nurse's note.

7 Q. Okay.

8 MR. NORCHI: Is there a question?

9 MR. CULLERS: Oh.

10 THE WITNESS: I'm sorry.

11 BY MR. CULLERS:

12 Q. My question was: Obviously you've indicated
13 that you can't remember as you sit here today
14 the delivery of the head in this particular
15 instance, and I asked you if there is something
16 in the record that would give you any specific
17 information about the delivery of the head?

18 A. No.

19 Q. Okay. We do know that it was delivered at 9:00,
20 right?

21 A. 9:01.

22 Q. The head, the head out was at 9:00?

23 A. Okay. The delivery time is listed as 9:01.

24 Q. Would you look at the nurse's notes, please.

25 A. Okay.

1 Q. See where it says "9:00, head out"?

2 A. Uh-huh.

3 Q. And then down at 9:01 it says "delivery of male
4 infant"?

5 A. Yes.

6 Q. Do you see that?

7 A. Yes.

8 Q. It appears as if some time, whether a minute or
9 less, elapsed between the delivery of the head
10 and then the delivery of the remainder of the --

11 A. Yes.

12 Q. After the baby's head was delivered, do you
13 remember anything at all being discussed about
14 the baby being stuck, about shoulder dystocia
15 being present, about having trouble with the
16 shoulder, anything at all like that?

17 A. No.

18 Q. Let's go back to your note. Will you keep your
19 finger on that.

20 A. I've got it.

21 Q. Then go back to your note, your operative note.
22 After you've indicated "and head delivered,"
23 could you read on, please.

24 A. "Due to macrosomia, shoulder dystocia was
25 anticipated and no suctioning done."

1 Q. Okay. Stop there. Can you tell me when
2 shoulder dystocia was anticipated?

3 A. No.

4 Q. Is it likely based upon your review of
5 information that you prepared for the discharge
6 summary and other records that we've reviewed,
7 that shoulder dystocia was anticipated before
8 the operative delivery was attempted?

9 MR. NORCHI: You mean before
10 application of the Mityvac?

11 MR. CULLERS: Yes.

12 THE WITNESS: Any time you have a woman
13 with a large baby and the gestational diabetes
14 it's a concern and you anticipate that there
15 could be a shoulder dystocia, so that if there
16 is, the practitioners are ready to deal with
17 that situation.

18 BY MR. CULLERS:

19 Q. Okay. So is it fair to say that it was likely
20 that shoulder dystocia was something that was
21 anticipated before she went into her second
22 stage of labor?

23 MR. NORCHI: By whom?

24 MR. CULLERS: By anyone involved in her
25 care.

1 THE WITNESS: I can't say what other
2 people anticipated, and I can't even say what I
3 anticipated, because I don't recall the --

4 BY MR. CULLERS:

5 Q. I understand that.

6 A. -- the whole delivery.

7 Q. Okay. Let me ask it to you this way: Based
8 upon your review of the information that you
9 personally were responsible for placing in the
10 chart and the other records here, is it likely
11 that you would have anticipated shoulder
12 dystocia prior to the commencement of the second
13 stage of labor?

14 A. It is possible that it was considered. The
15 patient had risk factors to con **ider that** she
16 may have a shoulder dystocia.

17 Q. Yeah. That's what I wanted to know. And I'm
18 just trying to figure out if -- is that
19 something that was anticipated, say, at 8:30 on
20 3-14 or before? And I just picked that because
21 you wrote a note at that time where you said
22 "operative vaginal delivery may be
23 considered."

24 A. Uh-huh.

25 Q. So as of that time, is it likely that shoulder

1 dystocia was something that was anticipated?

2 MR. NORCHI: Objection. Can you answer
3 that question, that last question? If you can,
4 answer it. Do you want it repeated by the court
5 reporter?

6 THE WITNESS: I want it rephrased.

7 BY MR. CULLERS:

8 Q. Let me rephrase the question. Let me start with
9 in your note you've indicated "shoulder dystocia
10 was anticipated." Do you agree with that?

11 A. The note indicates that.

12 Q. All right. What I want to know is based upon
13 your review of the information related to this
14 patient's history and treatment up to the point
15 where you became involved, is it likely that
16 shoulder dystocia was something that was
17 anticipated before 8:30 on 3-14-95, which is
18 when you wrote a note?

19 A. It is possible that it was thought that the
20 patient may have some factors that need to be
21 considered for a possible shoulder dystocia.

22 Q. Okay. Can you tell me whether or not it was
23 likely that it was considered? I'm using
24 different words. You said "possible."

25 A. No, I can't tell you that.

1 Q. You can't?

2 A. Uh-uh.

3 Q. All right. Now -- all right. Never mind. Let
4 me give -- let me ask this one more time in a
5 different way.

6 MR. NORCHI: Objection Go ahead.

7 BY MR. CULLERS:

8 Q. I'm not trying to ask you if, in fact, you know,
9 as of 8:30 on 3-14-95 that shoulder dystocia was
10 likely. What I was asking was if it was likely
11 that shoulder dystocia was anticipated as of
12 that time?

13 A. I don't know the answer to that --

14 Q. Okay.

15 A. -- because it's not indicated in the record.

16 Q. All right. You indicated earlier that when
17 shoulder dystocia is anticipated based upon a
18 patient's history or based on a variety of
19 information that you have about the patient,
20 that certain precautions or certain measures
21 need to be taken to prepare for that
22 possibility. Do you recall that?

23 A. I don't know if I stated that certain
24 precautions need to be taken.

25 Q. Well, I'm not trying to pin you down with those

1 words, but you did say something about --

2 MR. NORCHI: Just ask a question. Just
3 ask her.

4 BY MR. CULLERS:

5 Q. Okay. Is -- all right. In a situation where
6 shoulder dystocia is anticipated, is there
7 anything that is done by the medical personnel
8 involved in the patient's care to prepare for
9 that possibility?

10 A. Any time you are partaking in a vaginal delivery
11 you should anticipate there's a possibility of a
12 shoulder dystocia, because a shoulder dystocia
13 is an unpredictable occurrence regardless of any
14 risk factor, any fetal size, any maternal
15 pelvis; so you should always be prepared that
16 you may have one and be prepared to do maneuvers
17 you would need to do to deliver the fetus if one
18 should occur.

19 Q. Is there anything in particular about the
20 possibility of shoulder dystocia that would
21 cause you to do something different than you
22 would do to prepare for any operative vaginal
23 delivery?

24 A. No, not necessarily.

25 Q. Based upon testimony that you've provided

1 earlier, I take it you don't recall any
2 discussions that were had among the medical
3 personnel involved in Ms. Dixon's care about the
4 fact of whether shoulder dystocia was
5 anticipated?

6 A. That's correct.

7 Q. Reading on in your note, it says -- you say
8 "attempted to deliver anterior shoulder and
9 there was evidence of a shoulder dystocia." Do
10 you see that?

11 A. Yes.

12 Q. What I want to know is: What was done in
13 attempt to deliver the anterior shoulder; if you
14 recall?

15 A. I do not recall the specifics.

16 Q. As of that time, 3-14-95, had you ever been
17 involved in a situation where attempts needed to
18 be made to deliver a shoulder in a situation
19 involving shoulder dystocia?

20 A. As stated at the beginning of our interview,
21 yes.

22 Q. Maybe half a dozen of those you said you were
23 involved in?

24 A. That was an estimated number.

25 Q. Okay. Do you remember if in connection with any

1 of those, you were involved at all in attempting
2 to deliver a shoulder that wouldn't come out?

3 A. I don't understand the question.

4 Q. Okay. It says here "attempted to deliver
5 anterior shoulder." What does that mean, the
6 shoulder is stuck, won't come out? I don't know
7 what it means.

8 A. Once the head is delivered, the shoulders are
9 the next part of the baby to be delivered.

10 Q. Okay.

11 A. And it is the anterior shoulder that is
12 delivered first, so attempt was made to deliver
13 the anterior shoulder. If the anterior shoulder
14 does not deliver, that's considered a shoulder
15 dystocia.

16 Q. Do you ever recall that situation occurring in
17 one of these dozen or so cases that you've
18 described earlier?

19 A. I don't remember specifics about those cases.

20 Q. All right. Do you remember ever attempting to
21 deliver the anterior shoulder and it not coming
22 out in any instance?

23 A. I recall having cases like that. I can't recall
24 specifics.

25 Q. You don't recall?

1 MR. NORCHI: What --

2 THE WITNESS: I don't know you're
3 asking for.

4 BY MR. CULLERS:

5 Q. What I'm asking for is: Have you ever had a
6 situation where you -- that you attempted to
7 deliver the baby's anterior shoulder and you
8 realized that there was evidence of a shoulder
9 dystocia because the shoulder wouldn't deliver?
10 I'm just asking if you specifically remember
11 that ever happening?

12 A. Yes.

13 Q. Okay. Do you recall what you did when that
14 happened?

15 A. No, because I don't recall the specific cases.

16 Q. Okay. And you can't recall in any instance what
17 you did in the face of that situation?

18 A. No.

19 Q. Okay.

20 MR. NORCHI: Do you understand the
21 question?

22 THE WITNESS: Yeah, but I can't give
23 him specifics. I think he's looking for what
24 did you do specifically with these other
25 cases --

1 MR. NORCHI: Okay.

2 THE WITNESS: -- and I can't give you
3 details of the other cases.

4 BY MR. CULLERS:

5 Q. I guess I would be interested to know -- I
6 appreciate that because you don't remember the
7 details of those and I understand that.

8 A. Uh-huh.

9 Q. I guess what I would like to know is what you
10 recall about having done in the past at any time
11 when faced with this situation of, you know, the
12 anterior shoulder not delivering because of
13 shoulder dystocia?

14 A. Are you asking me what do you normally do if a
15 shoulder dystocia occurs?

16 Q. Yeah, but I can't ask it to you that way because
17 I'm not talking about now; I mean in the past.

18 MR. NORCHI: As of March of 1995.

19 THE WITNESS: See, I can't separate
20 that out.

21 BY MR. CULLERS:

22 Q. You can't?

23 A. I can't tell you -- it's hard to go back and
24 tell you what I knew as of March 14th of '95 and
25 what I know now and how that was different.

1 Q. All right. Is there some way you can tell me
2 what you do now when you're faced by that?

3 MR. NORCHI: Objection. I understand
4 the difficulty. She's not an expert and
5 that's -- and she's not here to talk about
6 standards of care, but if you want to --

7 THE WITNESS: I'm stuck here. I'm
8 sorry.

9 MR. NORCHI: Are you asking what is
10 the -- how do you manage shoulder dystocia --
11 presentation of a shoulder dystocia? Is that --
12 I think that's what you're asking.

13 MR. CULLERS: I'm not asking for her
14 opinion in that regard.

15 BY MR. CULLERS:

16 Q. What I'm asking is: What do you do now when
17 you're faced with this situation, just so I can
18 gain an understanding of how this is dealt
19 with.

20 MR. NORCHI: How do you manage a
21 present shoulder dystocia that presents during a
22 vaginal delivery, I think is the question,
23 right?

24 MR. CULLERS: Yes.

25 THE WITNESS: Answer it?

1 MR. NORCHI: Go ahead.

2 THE WITNESS: Number one, you have to
3 identify the fact that you have a shoulder
4 dystocia.

5 BY MR. CULLERS:

6 Q. And that is done by your realization that the
7 shoulder will not deliver?

8 A. It's done by realizing the anterior shoulder
9 wouldn't deliver.

10 Q. How do you ascertain that?

11 A. As you're going through the movements of
12 delivering the baby and once the head is out and
13 you're going to deliver the shoulder, the
14 shoulder just doesn't -- it doesn't deliver. I
15 mean, you can tell; it just doesn't deliver
16 beyond the pubic bone, because it's lodged
17 behind the pubic bone.

18 Q. Do you then ever apply any traction --

19 A. To --

20 Q. -- to see if the shoulder will then come forth?

21 A. You can apply light traction, but not -- I mean,
22 you don't -- I mean, that's -- here we go again
23 with this whole traction thing. You don't
24 pull. If it's lodged, you do something to try
25 to dislodge it.

- 1 Q. You apply gentle traction?
- 2 A. Uh-huh.
- 3 Q. Then what do you do?
- 4 A. Then you have to say "I have a shoulder
5 dystocia" so somebody looks at the clock, and
6 then you begin the movements to try to dislodge
7 the shoulder, which can include various things;
8 one can include suprapubic pressure.
- 9 Q. Which is what?
- 10 A. Downward pressure right on the pubic bone, right
11 above the pubic bone, to try to push the
12 shoulder out from behind the pubic bone.
- 13 Q. Fist -- using a fist?
- 14 A. You don't do it because you're delivering the
15 baby; somebody else does.
- 16 Q. Somebody else has to do it?
- 17 A. Yeah.
- 18 Q. Your hands are on the baby's head supporting the
19 baby's head?
- 20 A. Uh-huh.
- 21 Q. Somebody else does the suprapubic pressure?
- 22 A. Yes.
- 23 Q. Below the naval, above the pubic bone?
- 24 A. Yeah.
- 25 Q. All right.

A. Then another maneuver you can use is the McRoberts maneuver, which is the hips flexed all the way back.

Q. How many people does it take to do the McRoberts maneuver?

A. Usually at least one on each leg.

Q. That's recommended, isn't it, that one person be assigned to each leg?

A. Yeah.

Q. All right. Why do you laugh?

A. It's hard to do if you have a large patient.

Q. Yes. One person is assigned to each leg, and what do they do?

A. They flex the hip.

Q. Push the legs up?

A. All the way to the chest.

Q. All right, You're not -- you can't be one of those people?

A. --

Q. You're holding the baby's head?

A. -- you can't be one of those people --

Q. Right?

A. -- if you're the delivering person.

You can do a Woods screw maneuver to try to rotate the shoulders out from underneath

1 the pubic bone.

2 Q. Do you do that before attempting the McRoberts?

3 A. It doesn't matter what order you do this in.

4 Q. It doesn't matter?

5 A. No.

6 Q. Have you ever done that maneuver at the same
7 time that the McRoberts maneuver was being done?

8 A. As of March 14th, '95?

9 Q. Yeah.

10 A. As of that date?

11 Q. Yes.

12 A. I don't know.

13 Q. All right. As of today?

14 A. As of today, yes.

15 Q. All right. Have you ever -- explain rotating
16 the shoulders. When do you -- how do you do
17 it?

18 A. You have to get your hands around the shoulders
19 and you can rotate in either direction, either
20 forward or backward, to try to dislodge the
21 shoulder from underneath the pubic bone. You
22 can try to deliver your posterior shoulder.

23 Q. How do you do that?

24 A. Try to get the arm -- the posterior arm out and
25 delivered.

1 Q. Do you reach up and see if you can get ahold of
2 the baby's arm and pull?

3 A. Yes.

4 Q. It makes the shoulders become oblique?

5 A. It makes the shoulders rotate. You can cut a
6 fourth-degree episiotomy. You can do a
7 Zavanelli maneuver.

8 Q. What is that?

9 a. Place the baby back up into the pelvis.

10 Q Have you ever done that?

11 A No.

12 Q. Have you ever seen it done?

13 A. No.

14 Q. It's where the -- you actually push the baby's
15 head back up through the birth canal?

16 A. Uh-huh.

17 Q. Okay. Is it ever appropriate in a delivery, I'm
18 not talking about a delivery involving shoulder
19 dystocia but just in a delivery, appropriate to
20 rotate the baby's head?

21 MR. NORCHI: Objection.

22 BY MR. CULLERS:

23 Q. Is it appropriate to rotate the baby's head?

24 A, I don't understand what you mean.

25 Q. All right. In your note here, after it says

1 "there was evidence of a shoulder dystocia,"
2 you indicate "suprapubic pressure and McRoberts
3 position initiated." Do you see that?

4 A. Yes.

5 Q. As you sit here today, do you recall either the
6 suprapubic pressure being applied or the
7 McRoberts position being initiated?

8 A. No.

9 Q. Okay. Would you turn to the nurse's notes up to
10 9:00 here.

11 A. (The witness complies.)

12 Q. Do you see in there where it says "suprapubic
13 pressure by Dr. Anthony" --

14 A. Yes.

15 Q -- "and H. Girrard"?

16 A. Yes.

17 Q. Having reviewed that, does that cause you to
18 recall anything about the suprapubic pressure
19 being applied in this instance?

20 A. No.

21 Q. Earlier when I asked you what "H" stood for, you
22 said "Heather," so you obviously remember this
23 person, Heather Girrard?

24 A. Yes.

Q. As you sit here today do you remember

- 1 Dr. Anthony?
- 2 A. Yes.
- 3 Q. It doesn't say anything in this note of 9:00
- 4 about the McRoberts position being initiated.
- 5 Is that something that normally is recorded in
- 6 nursing notes; if you know?
- 7 A. I don't know the answer to that.
- 8 Q. In a situation where the McRoberts position
- 9 would be initiated back, you know, in
- 10 March '95, you being the second year resident,
- 11 what would your role in that be; if you can
- 12 answer that?
- 13 A. With this particular patient?
- 14 Q. Yes.
- 15 A. I would not have been doing that because I would
- 16 have been gowned doing the delivery.
- 17 Q. So you would have been one of the -- you would
- 18 have been responsible for holding the baby's
- 19 head or --
- 20 A. I don't know the answer to the questions. I can
- 21 tell you that on the delivery record,
- 22 Dr. Goldfarb and myself are listed as the
- 23 delivering physicians, so we would not have been
- 24 the two that were holding the legs to do the
- 25 McRoberts maneuver.

1 Q. While that was going on, what would your role
2 have been in the delivery, not necessarily in
3 the McRoberts maneuver but in the delivery?

4 A. To somehow be involved in delivering the baby,
5 but I can't tell you specifically what my role
6 was because it's not indicated in this record.

7 Q. All right. In this situation where we know some
8 of the people who are present, this Girrard,
9 this Dr. Anthony, you were there, Dr. Goldfarb,
10 who would have been responsible for flexing the
11 mother's legs in connection with the McRoberts
12 maneuver? Can you tell me that?

13 A. Who would have actually been doing the flexion
14 of the legs?

15 Q. Yeah. Can you tell me that?

16 A. I can't tell you that by the record.

17 Q. All right. Can you tell me by your recollection
18 of what the procedures were around that time?

19 A. It would have been one of the other parties in
20 the room, which would either have been
21 Dr. Anthony, Heather Girrard or the nurses.

22 Q. Do you know how many nurses were around?

23 A. No.

24 Q. Who's the person who makes the decision about
25 when the McRoberts maneuver will be employed,

1 the attending?

2 A. It's not -- it's not something that one person
3 makes a decision about.

4 Q. Tell me how it --

5 A. Because the staff is trained -- both resident,
6 midwife and nursing staff -- that if a shoulder
7 dystocia is present, those things automatically
8 occur.

9 Q. They spontaneously will do that?

10 A. Yeah. I mean, it's not anybody is standing
11 there giving orders.

12 Q. If somebody says there's evidence of shoulder
13 dystocia, then they will automatically initiate
14 the McRoberts maneuver?

15 A. And everybody in the room automatically knows
16 what to do, and they begin these maneuvers.

17 Q. In your note it indicates suprapubic pressure
18 was applied and the McRoberts maneuver was
19 initiated. Is there any order in which those
20 were done? Can you tell by looking at the
21 record?

22 A I can't tell by looking at the record.

23 Q. Back then do you know if it was customary to do
24 them in any particular order, or were they done
25 together back then? How -- what would have been

1 the most likely occurrence?

2 A. When something like this happens, there's a lot
3 of things going on simultaneously.

4 Q. Is it possible that, you know, based on your
5 memory of the way things were done back on
6 3-14-95, that the McRoberts maneuver and the
7 suprapubic pressure both were being done at the
8 same time?

9 A. Did you say is that likely?

10 Q. Yeah.

11 A. Yes, it's likely.

12 Q. Do you ever -- strike that. Do you remember
13 during your residency ever being involved in a
14 delivery where the McRoberts maneuver and
15 suprapubic pressure both were applied
16 simultaneously?

17 A. Yes.

18 Q. Do you recall if in those instances, if there
19 are more than one, whether an episiotomy also
20 was done?

21 A. I don't recall.

22 Q. Is there any way you can tell by looking at the
23 nurse's notes and knowing who these people were
24 who were involved in this delivery who would
25 have been the one applying the suprapubic

1 pressure?

2 A. No, I don't know that by reading these notes

3 Q. At 9:00 it says "suprapubic pressure by
4 Dr. Anthony." Is there any way you can tell
5 from that if he's the one that actually did
6 that?

7 A. Well, it says "by Dr. Anthony and Heather
8 Girrard," so I don't know which one of them or
9 if both of them were applying suprapubic
10 pressure.

11 Q. Would they both have been applying suprapubic
12 pressure?

13 A. I don't know. I don't know if they alternated
14 doing it or --

15 Q. Have you ever seen two people doing that at the
16 same time on a patient?

17 A. I don't understand the question.

18 Q. Well, I understand --

19 A. I mean, it's -- you can't tell by this note who
20 was applying the suprapubic pressure or if one
21 was applying it and then the other one was
22 applying it. There's no way that that note
23 indicates that.

24 Q. Okay. Is there ever a situation where two
25 people would be applying suprapubic pressure at

1 the same time? Would that be unusual?

2 A. I wouldn't say it would be unusual. I don't
3 know if it's possible.

4 Q. That's what -- I mean, I understand what you're
5 saying about you can't tell which one of these
6 people was doing the suprapubic pressure. All I
7 want to know is if it would be --

8 A. It would be unlikely that they both would have
9 been doing it at the same time, but I can't tell
10 you which one was doing it at which point.

11 Q. That's fair. Did you become aware during the
12 course of treatment of either Monica Dixon or
13 Michael Dixon that Michael Dixon had an Erb's
14 palsy?

15 A. Was I aware that he had an Erb's palsy?

16 Q. Yes.

17 MR. NORCHI: During th s -- during what
18 time? I'm sorry.

19% MR. CULLERS: Just during any -- any
20 time during the course of either his treatment
21 or --

22 MR. NORCHI: She didn't treat him.

23 MR. CULLERS: -- the mother's
24 treatment.

25 THE WITNESS: I didn't treat the baby.

1 Once the baby is delivered, the baby goes to the
2 pediatrician.

3 BY MR. CULLERS:

4 Q. So did you ever learn at any point around the
5 time of the delivery or during .he next few days
6 that he had an Erb's palsy?

7 A. I don't recall that.

8 Q. Is that something that you likely would have
9 learned?

10 A. Possibly.

11 Q. In other words, is it part -- would it have been
12 part of your practice to follow for any reason
13 an infant's progress that had been born in which
14 you were involved in the delivery?

15 A. It wouldn't be unusual to follow the infant's
16 progress during the course of the mother being
17 hospitalized in the hospital.

18 Q. Do you have any knowledge at all today about
19 Michael Dixon's present condition?

20 MR. NORCHI: Other than what I told her
21 you mean? I don't even have that.

22 BY MR. CULLERS:

23 Q. Do you have any understanding of what transpired
24 with him after 3-14-95?

25 A. No, I don't.

1 Q. Were you aware that he had an injury to his
2 brachial plexus?

3 MR. NORCHI: When?

4 BY MR. CULLERS:

5 Q. Just at any time did you become aware that he
6 had an injury to his brachial plexus?

7 A. When I received the medical record to review.

8 Q. All right. Do you have any opinion as to how
9 the brachial plexus was injured?

10 MR. NORCHI: Objection. You
11 can answer.

12 THE WITNESS: No, I don't.

- 13 BY MR. CULLERS:

14 Q Have you ever -- I mean, have you thought about
15 why it happened?

16 MR. NORCHI: How what happened?

17 MR. CULLERS: How the Erb's palsy
18 resulted.

19 MR. NORCHI: She hasn't seen any -- go
20 ahead Objection. If you have have you
21 thought a is the question.

22 THE WITNESS: I don't understand the
23 question.

24 BY MR. CULLERS

- 25 Q. Okay. What I'm trying to figure out is, first

1 on that a
2 to the infant;
3 as to how it
4 ow it occurred?
5 because I feel the
6 opriate way; and
7 , it states that
8 know, anticipated
9 l that the
10 ppropriately and
11 --

A.

Q.

A.

eliver a viable

Q.

about whether or
e or not

17 I'm really
18 have an opinion.
19 hysical
20 n other words,
21 esulted in the
22
23 to object only
24 because she
25 hasn't seen the

1 documentation that establishes that he has Erb's
2 palsy. It's in a different medical specialty.
3 I think we're getting far fielded from what a
4 second year resident would know.

5 MR. CULLERS: If she doesn't have an
6 opinion, she can tell me she doesn't have an
7 opinion.

8 THE WITNESS: I don't have an opinion.
9 I'm lost.

10 MR. NORCHI: You have to understand his
11 question. If you have no opinion, that's fine.

12 BY MR. CULLERS:

13 Q. You said you're lost. Is it my question? What
14 is it?

15 A. I don't have an answer. I gave *you* the best
16 answer I could, which was I fee that the
17 shoulder dystocia was handled appropriately.

18 Q. I mean, do you know today that ~~he~~ had an injury
19 to his brachial plexus?

20 A. All I know is what was indicate in the medical
21 records that I was given to rev ew.

22 Q. Was that in there?

23 MR. NORCHI: I don't know.

24 THE WITNESS: I don't know. I don't
25 know specifically, because I -- I don't know

1 where the baby is at this point in its
2 progress.

3 BY MR. CULLERS:

4 Q. All I wanted to know is if you were aware of
5 whether or not he had the brachial plexus
6 injury? If you're not, then all these other
7 questions don't really matter.

8 A. Do we have any of those records

9 MR. NORCHI: I might. I don't know if
10 you do. And they only go up to a certain date
11 and they're limited, so --

12 THE WITNESS: I guess knew he had the
13 brachial plexus injury because assumed that's
14 what this all -- this whole process involved.

15 BY MR. CULLERS:

16 Q. All right. Did you ever have any discussions
17 with anybody who would have been involved in his
18 care about the fact that he had a brachial
19 plexus injury?

20 A. No.

21 Q. Did you ever have any discussions later after
22 3-14-95 with any people who were involved in
23 either the care of Monica Dixon or Michael Dixon
24 about the delivery and what had occurred during
25 the delivery?

1 A. Not that I recall.

2 Q. Have you had any discussions with any of the
3 involved medical personnel since the lawsuit was
4 filed?

5 A. Not that I recall.

6 Q. After you received whatever information you did
7 about the lawsuit being filed, did you contact
8 any of the involved physicians or nurses or
9 other medical professionals?

10 A. No, I did not.

11 Q. I mean --

12 A. I consulted the legal department. That was it.

13 Q. You didn't call any of these other involved
14 people and say, "I got sued here. What's going
15 on?"

16 A. (The witness shakes head.)

17 Q. "Did you get sued?"

18 A. I knew who got sued. It was listed on the
19 lawsuit.

20 Q. I'm not trying -- I don't want to be indelicate
21 or anything, but I find it --

22 MR. NORCHI: Wait. Before you go that
23 path, usually what happens with doctors is that
24 they are advised by their counsel, by legal
25 departments and hospitals, don't talk to anybody

1 about the case because a lawyer will ask you at
2 your deposition what did you talk about, and
3 then they travel down roads of speculation and
4 conjecture, which are just foggy and cloudy; so
5 that's what happens in a situation like this.

6 BY MR. CULLERS:

7 Q. If that's the case --

8 A. I mean, that's the case. I don't find that
9 unusual, what I'm telling.

10 Q. No. The reason -- having now heard that, it
11 makes sense; but before that, it seemed a little
12 strange to me to think that you get sued and not
13 call and say something about it or have a
14 discussion about it. That's all. But it's
15 because you were told not to.

16 MR. NORCHI: The realities of the world.

17 THE WITNESS: Yes.

18 BY MR. CULLERS:

19 Q. And that's what he described as your situation.

20 A. Yes.

21 MR. CULLERS: All right. Let me look
22 over my notes.

23 (Thereupon, a discussion was held off
24 the record.)

25 MR. CULLERS: Let's go back on.

1 BY MR. CULLERS:

2 Q. I realize that you don't recall any specifics it
3 sounds like about the care involved here with
4 either Monica Dixon or Michael Dixon, but I need
5 to ask you anyway if at any time during your
6 involvement in Monica Dixon's care, do you
7 remember hearing anybody say that it may be
8 necessary to perform a C-section?

9 A. I don't recall ever hearing that.

10 MR. CULLERS: All right. I don't have
11 anything further.

12 MR. NORCHI: I mean, you don't recall
13 one way or the other?

14 THE WITNESS: I don't recall hearing
15 any discussion related to that.

16 MR. CULLERS: Okay.

17 MR. NORCHI: I just wanted to make sure
18 it comes out right, as opposed to they never
19 talked about it or I recall that they didn't
20 talk about it, as opposed to I have no
21 recollection of any discussions at this time,

22 BY MR. CULLERS:

23 Q. In other words, the distinction that's being
24 made here is that --

25 MR. NORCHI: Semantics.

1 BY MR. CULLERS:

2 Q. You're not saying --

3 A. I'm not saying it didn't take place. I'm saying
4 I don't recall that happening.

5 Q. And if it did, you don't remember it obviously?

6 A. Correct.

7 MR. CULLERS: All right.

8 MR. NORCHI: Okay. Dr. Krivetsky will
9 receive the transcript, read the transcript. I
10 would like to waive the usual seven days, but
11 we'll get it back at a reasonable time.

12 (Thereupon, a discussion was held off
13 the record.)

14 THE WITNESS: 5,000 Higbee Avenue
15 North --

16 MR. NORCHI: You don't even know it.

17 THE WITNESS: -- Northwest, it's
18 44718 -- Canton, Ohio 44718.

19 - - - - -
20 Deposition concluded at 7:50 p.m.
21 - - - - -
22
23
24
25

C E R T I F I C A T E

STATE OF OHIO

STARK COUNTY

I, Carey D. Sporup, a Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named Witness, JOANNE KRIVETSKY, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony given was by me reduced to Stenotypy and afterwards transcribed, and that the foregoing is a true and correct transcription to the best of my knowledge and ability of the testimony so given by her as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Canton, Ohio, on this 26th day of December, 1997.


Carey D. Sporup, RPR & Notary Public
My commission expires July 7, 2002

C E R T I F I C A T E

I, JOANNE KRIVETSKY, M.D., do
hereby certify that I have read the foregoing
deposition in the case of MONICA DIXON,
Plaintiff, versus UNIVERSITY HOSPITALS OF
CLEVELAND, et al., Defendants, and said
deposition constitutes a true and correct
transcript of my testimony give at the
specified time

JOANNE KRIVETSKY, M.D.

Subscribed and sworn to before me this
_____ day of _____, 1997.

Notary Public
My commission expires _____

- - - - -

CHANGES REQUESTED TO THE DEPOSITION OF
JOANNE KRIVETSKY, M.D.
TAKEN ON DECEMBER 17, 1997

<u>Page</u>	<u>Line</u>	<u>From</u>	<u>To</u>	<u>Reason</u>
-------------	-------------	-------------	-----------	---------------