

IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO

TRACY ANN SMITH,)
ADMINISTRATOR, etc.,)
)
Plaintiffs,)
)
vs) Case No. 327828
) Judge Fuerst
UNIVERSITY HOSPITALS OF)
CLEVELAND, et al.,)
)
Defendants.)
)

- - - - -

DEPOSITION OF D.M.D. LANDIS, M.D.

TUESDAY, NOVEMBER 16, 1999

- - - - -

The deposition of D.M.D. LANDIS, M.D., the
Witness herein, called by counsel on behalf of
the Plaintiff for examination under the statute,
taken before me, Vivian L. Gordon, a Registered
Diplomate Reporter and Notary Public in and for
the State of Ohio, pursuant to issuance of
subpoena notice and agreement of counsel, at the
offices of University Hospitals, Hanna House,
Cleveland, Ohio, commencing at 1:30 o'clock p.m.
on the day and date above set forth.

APPEARANCES:

On behalf of the Plaintiff
Becker & Mishkind

BY: JEANNE M. TOSTI, ESQ.
Skylight Office Tower
Suite 660
Cleveland, Ohio 44113

On behalf of the Defendant University Hospitals
Moscarino & Treu

BY: PATRICIA CASEY CUTHBERTSON, ESQ.
812 Huron Road Suite 490
Cleveland, Ohio 44115

On behalf of the Witness
Reminger & Reminger

BY: STEPHAN C. KREMER, ESQ.
The 113 St. Clair Building
Cleveland, Ohio 44114

- - - - -

1 D.M.D. LANDIS, M.D., a witness herein,
2 called for examination, as provided by the Ohio
3 Rules of Civil Procedure, being by me first duly
4 sworn, as hereinafter certified, was deposed and
5 said as follows:

6 EXAMINATION OF D.M.D. LANDIS, M.D.

7 BY-MS. TOSTI:

8 Q. Doctor, would you please state your
9 name for us.

10 A. Dennis Michael Dolan Landis.

11 Q. Your home address?

12 A. One Bratenal, Suite 706, Bratenal,
13 Ohio, 44108.

14 Q. And what is your current business
15 address?

16 A. Department of Neurology, University
17 Hospitals of Cleveland, 11100 Euclid Avenue,
18 Cleveland, 44106.

19 Q. Have you ever had your deposition
20 taken before?

21 A. Yes.

22 Q. How many times?

23 A. Perhaps three.

24 Q. What was the reason that your
25 deposition was being taken? In other words --

1 A. Expert witness testimony.

2 Q. **So** you have served as a medical
3 expert?

4 A. Yes.

5 Q. In a medical/legal proceeding?

6 A. Yes.

7 Q. I want to go over a few of the ground
8 rules for depositions. I am sure counsel has had
9 a chance to talk to you. This is a question and
10 answer session. It's under oath. It's important
11 that you understand my questions. If you don't
12 understand them, ask me and I will be happy to
13 rephrase them or to repeat them. Otherwise I am
14 going to assume that you understood my question
15 and that you are able to answer it.

16 It's also important that you give all
17 of your answers verbally because our court
18 reporter can't take down head nods or hand
19 motions.

20 Have you reviewed anything in
21 preparation for this deposition?

22 A. I have a set **of** documents provided to
23 me.

24 Q. Okay. And what documents were those?
25 The documents that you have before you is the

1 subpoena with duces tecum and also a letter from
2 our office addressed to the sleep lab that is
3 signed by Justine Wells requesting the outpatient
4 sleep center records; correct?

5 A. Yes.

6 Q. Have you reviewed any other documents
7 than these two?

8 A. This isn't complete.

9 MR. KREMER: He has looked at some of
10 the patient specific records, but those aren't
11 applicable to this matter. He didn't have any
12 direct care with this particular patient.

13 MS. TOSTI: I am asking him what he
14 reviewed in preparation for the deposition.

15 Q. Now, you have reviewed the patient
16 records of Patricia Smith in this case?

17 A. No. I reviewed a note written by Dr.
18 Collins and his initial contact with the
19 patient. This is fundamentally the file.

20 MS. TOSTI: I would like to see
21 whatever the doctor has reviewed.

22 MR. KREMER: As long as what is
23 contained in there isn't privileged.

24 MS. CUTHBERTSON: I will make the same
25 objection for the record.

1 A. So this looks like the intake record
2 for the scheduling when the test was scheduled.

3 Q. Okay. So we have a patient intake
4 record. It says patient information at the top.
5 A University Hospitals of Cleveland consent form
6 with a signature line at the bottom that appears
7 to have Patricia Smith's signature dated 2-6-96;
8 a referral form from the University Family
9 Medicine Foundation to a specialist dated
10 November 3rd, '95; registration, a typewritten
11 registration history page with the title
12 registration history and University Hospitals of
13 Cleveland at the top; a reservation form, patient
14 name being Patricia Smith, admitting physician
15 Dr. Brooks, referring physician Dr. Michael
16 Rowane, primary care physician Dr. Collins; an
17 overnight polysomnogram report for Patricia Smith
18 dated February 6th of '96; a medical office
19 record of Dr. Stephen Collins, date of visit
20 11-3-95; electroencephalogram report for Patricia
21 Smith dated 10-10-95; and a letter addressed to
22 Dr. Rowane signed by Dr. Brooks dated February
23 7th, 1996 which has previously been referred to
24 in this case as the preliminary report.

25 Doctor, now, aside from the records

1 that I just reviewed and the subpoena with duces
2 tecum and attachments, and the letter from our
3 office with attachments, is there anything else
4 that you have reviewed in this case?

5 A. No.

6 Q. Okay. Now, who provided you with the
7 documents that you have in front of you?

8 A. I don't know the original source. The
9 information was handed to me by my secretary.

10 Q. Did you make a request for it?

11 A. No.

12 Q. The medical records of Patricia Smith,
13 did you request that someone provide you with
14 those records?

15 A. No. **My** secretary usually tries to
16 provide me with the information I need when I
17 have to deal with something in the office, so I
18 don't know the questioning source or the route by
19 which the information was obtained.

20 Q. Okay. And you have never treated
21 Patricia Smith; is that correct?

22 A. No.

23 Q. And did you have any permission from
24 Patricia Smith's administrator of her estate to
25 obtain her medical records for review?

1 A. I did not.

2 Q. Aside from Mr. Kremer and individuals
3 from his office, have you been contacted with any
4 other attorneys or attorney's office other than
5 my contact with the subpoena?

6 A. I think that I was informed months ago
7 that I was not to contact attorneys from the
8 hospital about this action.

9 Q. Okay. Who informed you?

10 A. That's wrong. That was another case.
11 I beg your pardon.

12 Q. **So** let me reask my question. Aside
13 from --

14 A. Other than those contacts.

15 Q. Let me reask my question. Aside from
16 any conversations you had with Mr. Kremer and
17 people from his office, have you had any contact
18 with any other attorneys that are involved in
19 this case?

20 A. No.

21 Q. Have you discussed this case with any
22 physicians?

23 A. I have called Dr. Rosenberg about this
24 case.

25 Q. Why did you call Dr. Rosenberg? Let

1 me ask this. When did you talk to Dr. Rosenberg?

2 A. I spoke with him this morning.

3 Q. And why did you call Dr. Rosenberg?

4 A. My question was about the nature of
5 the interval between the preliminary report and
6 the final report.

7 Q. And can you tell me the contents of
8 that conversation between you and Dr. Rosenberg?

9 A. I asked him if that was unusual.

10 Q. What interval did you relate to him?

11 A. The interval in the preliminary report
12 is signed out the 2nd. There is a note that the
13 final report was typed nearly a month later.

14 Q. Okay. And what did Dr. Rosenberg tell
15 you?

16 A. He said it was not unusual for that
17 time.

18 Q. **So** it was not unusual to have a final
19 report issued?

20 A. A month after the preliminary report.
21 And in fact that's the whole reason for the
22 preliminary reports.

23 Q. Okay. Did you and Dr. Rosenberg talk
24 about anything else when you spoke?

25 A. No.

1 Q. Have you spoken to any other
2 physicians aside from Dr. Rosenberg about this
3 case?

4 A. No.

5 Q. Now, in the subpoena that was served
6 upon you for this deposition, you were asked to
7 bring several documents with you to the
8 deposition. Did you bring those documents with
9 you?

10 A. I don't have any documents.

11 Q. And why is it that you were not able
12 to produce those particular documents?

13 A. I have never been in possession of
14 those documents.

15 Q. Do you know who is the custodian of
16 those documents?

17 A. I think most of the documents that you
18 describe would be in the custody of the
19 laboratory, the laboratory personnel.

20 Q. To your knowledge, do those documents
21 exist?

22 A. I don't know that they exist. I
23 presume they exist.

24 Q. Now, in February of 1996, were you an
25 employee of any professional medical group?

1 A. Yes, University Neurologists
2 Association Incorporated. In February I was also
3 the president.

4 Q. And are you still a member of that
5 medical group?

6 A. Yes.

7 Q. And the business address that you just
8 gave me, is that the business address **of** your
9 medical group?

10 A. I should think so.

11 Q. Now, in February of '96, did you have
12 any other employers besides University
13 Neurologists Associates?

14 A. It's a complicated question because I
15 can be variously regarded as an employee **of** the
16 School of Medicine at Case Western Reserve
17 University and of the corporation we just talked
18 about.

19 Q. Being University Neurologists
20 Associates?

21 A. Yes.

22 Q. Now, do you practice a particular
23 medical specialty?

24 A. Neurology.

25 Q. Do you have any particular expertise

1 in the field of sleep disorders?

2 A. No.

3 Q. And doctor, are you board certified in
4 any area?

5 A. Yes. I am board certified in internal
6 medicine and in neurology.

7 Q. Can you tell me when you received
8 those board certifications, approximately?

9 A. Neurology looks like it's 1979 and
10 medicine -- can anybody see that?

11 MS. CUTHBERTSON: '75.

12 A. '75.

13 Q. And I take it you are not board
14 certified in sleep medicine; is that correct?

15 A. I am not.

16 Q. Do you currently hold any titles or
17 positions with University Hospitals of Cleveland?

18 A. I am the director of the Department of
19 Neurology.

20 Q. Any other titles?

21 A. I am not sure. I think -- as far as I
22 know, that's my title.

23 a. Do you have any duties or
24 responsibilities presently related to University
25 Hospitals sleep center or University sleep

1 center?

2 A. I think the sleep center is still
3 within the Department of Neurology from the point
4 of view of administrative purposes.

5 Q. And in regard to your duties and
6 responsibilities, is there anything that you do
7 in regard to the sleep center as director of the
8 Department of Neurology?

9 A. The director of the sleep center
10 reports to me. But I have, other than a
11 supervisory role, essentially no interaction with
12 the sleep center on a day-to-day basis.

13 Q. Who is currently the director of the
14 sleep center?

15 A. Dr. Carl Rosenberg.

16 Q. Now, in February of 1996, did you hold
17 any titles or positions with University Hospitals
18 of Cleveland?

19 A. In '96 I was also chairman or rather
20 director of the Department of Neurology
21 University Hospitals and I served as director of
22 the sleep laboratory. I am not sure that was an
23 official title.

24 Q. When did you begin serving as director
25 of the sleep laboratory?

1 A. I am not certain. I became acting
2 director of the department in January of 1994. I
3 became the chairman of the department in December
4 of '95. So I probably would have become director
5 of the laboratory sometime after January of 1994.

6 Q. When you say you became acting
7 director in 1994, are you speaking of acting
8 director of the neurology department?

9 A. Yes.

10 Q. And so it's likely that at that time
11 you also assumed responsibility as director of
12 the sleep lab?

13 A. Yes. The point at which I became
14 director of the sleep lab was the time in which
15 the two preexisting sleep labs were merged. And I
16 don't know precisely when that was.

17 Q. When did you relinquish the title of
18 director of the sleep lab?

19 A. Sometime after July of 1996.

20 Q. And did you at that time appoint
21 someone to that position?

22 A. That's at which point Dr. Rosenberg
23 became director.

24 Q. Now, in February of 1996 was the
25 University sleep center owned and operated by

1 University Hospitals **of** Cleveland?

2 A. Yes.

3 Q. And in February of '96, I would like
4 you to tell me what your duties and
5 responsibilities as the director of the
6 University sleep center were.

7 A. My responsibilities were primarily
8 administrative, so that I was responsible for
9 representing to the hospital the needs of the
10 laboratory and negotiating with them the
11 resources necessary to the laboratory.

12 Q. Was there anyone else at that point in
13 time, February of '96, that had responsibility
14 for the medical direction of the lab?

15 A. I was the administrative head of the
16 laboratory. There were two medical
17 co-directors. Dr. Rosenberg was one, Dr. Brooks
18 was the other.

19 Q. Did you personally provide any
20 services to patients at the sleep center in 1996?

21 A. No.

22 Q. **So** any of the services that were
23 provided were being provided by Dr. Brooks or
24 Dr. Rosenberg, would that be correct?

25 MS. CUTHBERTSON: Objection.

1 Q. Was the responsibilities for the sleep
2 center divided in any particular way between Dr.
3 Brooks and Dr. Rosenberg?

4 A. Well, their responsibilities were
5 joint. They were co-directors. They alternated
6 in responsibility for interpreting tests.

7 Q. Did they have any particular time that
8 they were required to be in the lab, like one
9 works so many days and the other works the other
10 days?

11 A. My recollection is that they
12 designated months in which they were responsible
13 for interpretation and alternated those months
14 because it was convenient for the rest of their
15 scheduling.

16 Q. **So** if patients were referred to the
17 lab during a month when one of them was taking
18 over responsibilities, that would be the
19 physician that would be assigned as the attending
20 physician for that patient?

21 MS. CUTHBERTSON: Objection.

22 A. Yes, I believe that to be the case,
23 yes.

24 Q. Did the sleep center provide services
25 to both pediatric and adult patients in February

1 of 1996?

2 A. Yes.

3 Q. And if you know, doctor, can you give
4 me just a rough breakdown as to what the percent
5 was of adults versus pediatric patients?

6 A. I don't recall exactly.

7 Q. Do you know if the majority were adult
8 or the majority were pediatric patients?

9 A. I don't.

10 Q. Now, for the remainder of this
11 deposition, I am going to be asking questions,
12 but I am referring to what occurred in the time
13 period of about November of 1995 through the
14 spring of 1996. So that's the time period that I
15 am referring to.

16 And when I am referring to some of the
17 treatments, I am speaking about adult patients.
18 And I understand that there may have been also
19 pediatric patients that were at the sleep
20 center.

21 Now, I understand that there was some
22 type of a renovation and relocation of the sleep
23 center about 1997; is that correct?

24 A. The sleep laboratory moved from the
25 site in Rainbow Babies and Children's to its

1 present site in the Lakeside building.

2 Q. In February of 1996, before that
3 renovation, where was the University sleep center
4 located?

5 A. In Rainbow Babies and Children's
6 Hospital.

7 Q. And both adult and pediatric patients
8 were seen in that particular area then?

9 A. Yes.

10 Q. Okay. Was the polysomnogram
11 laboratory located in conjunction with the sleep
12 center in 1996? Were the two --

13 A. They were one.

14 Q. They were at the same site?

15 A. Yes.

16 Q. You mentioned at one time there were
17 two sleep labs. Was that before 1996, February
18 of '96?

19 A. Yes. Considerably before.

20 Q. In that same time period, how many
21 sleep bedrooms did the sleep lab have?

22 A. There were at least two.

23 Q. And do you know how many sleep studies
24 were being done per week in that time period?

25 A. I do not.

1 Q. Now, I would like to talk a little bit
2 about the staffing for the sleep center in that
3 time period. You mentioned that there were --
4 what was the title that you said Dr. Brooks and
5 Dr. Rosenberg had?

6 A. They were the co-directors, the
7 medical co-directors **of** the laboratory.

8 Q. There were two medical co-directors?

9 A. Yes.

10 Q. What other personnel staffed that lab?

11 A. Ordinarily the staffing structure had
12 a chief technologist and a technologist who
13 supervised the studies, and I cannot tell you now
14 how many technologists were hired by the
15 laboratory then.

16 Q. Did you also have clerical support?

17 A. There would have been a secretary
18 associated with it.

19 Q. Okay. More than one secretary or just
20 one secretary?

21 A. Just one.

22 Q. Do you know who that person was in
23 February of '96?

24 A. I am not sure. **In** the papers that I
25 reviewed, there was a note signed by Ruth which

1 would suggest to me that the secretary was named
2 Ruth.

3 Q. And what papers are you referring to?

4 A. The information was in the list that I
5 showed you earlier. (Indicating.) That's signed
6 by Ruth.

7 Q. Now, we are referring to the letter
8 that was signed by Justine Wells that has
9 attached to it the letter of appointment of Tracy
10 Smith as administratrix of the estate and a
11 Becker and Mishkind request. There attached to
12 the back of it is a handwritten note that I have
13 never seen this particular note and that's why I
14 am inquiring about it. It's handwritten and it
15 looks like it has several different handwritings
16 on it.

17 It reads Linda Neidleman and it has a
18 date, looks like 9-24-97, and some numbers. Can
19 you tell me what the various information on here
20 refers to? Who is Linda Neidleman?

21 A. I have no idea.

22 Q. Do you know what these numbers beneath
23 her name refer to?

24 A. No.

25 Q. The next handwritten note on the page,

1 Pat, this study was typed 3-6-96, Ruth. Who is
2 Pat?

3 A. I don't know who that Pat **is**, but
4 Patricia Anderson is the administrator of the
5 Department of Neurology.

6 Q. And who is Ruth?

7 A. I believe Ruth is the present
8 secretary of the sleep center.

9 Q. And below that is another
10 handwriting. Send copy of chart per something
11 medical records. I can't read the rest of this.

12 Do you know what this refers to?

13 A. No. I don't know who originated it or
14 to whom it was directed.

15 Q. Okay. I am going to ask for a copy of
16 that page.

17 Back to my question. We were talking
18 about the personnel that staffed the sleep
19 center. You mentioned there were two medical
20 co-directors, a chief technologist and other
21 technologists likely, and at least one secretary;
22 correct?

23 A. I think so, yes.

24 Q. Were there any other personnel that
25 staffed the unit that you recall?

1 A. At the very formation of the
2 laboratory there had also been a nurse clinician
3 associated with the laboratory. And I am not
4 certain when that person left.

5 Q. And you don't know whether that person
6 was still associated with the laboratory in
7 February of 1996?

8 A. I don't. And the only other personnel
9 would have been individuals responsible for
10 maintenance of the equipment.

11 Q. The people, the chief technicians and
12 the secretaries, were they employees of
13 University Hospitals of Cleveland?

14 A. I believe so.

15 Q. Who was responsible for hiring the
16 staff for the laboratory and for training the
17 staff?

18 A. The prospective applicants were found
19 by the medical co-directors. And I was in a
20 position of approving their decision.

21 Q. And in regard to training the
22 personnel, would that be the medical
23 co-directors' responsibilities?

24 A. **Yes.** Which they would share with the
25 chief technologist.

1 Q. Now, aside from Dr. Brooks and Dr.
2 Rosenberg, there were no other staff physicians
3 associated with the sleep center; is that
4 correct?

5 MS. CUTHBERTSON: Objection.

6 A. That's right. As far as I'm aware.

7 Q. Well, doctor, you were their
8 supervisor; correct?

9 A. Yes.

10 Q. So if there were other physicians
11 involved at the sleep center at that time, *you*
12 would have knowledge of them if they were
13 providing services on a regular basis; correct?

14 A. Yes.

15 Q. And did you appoint both Dr. Brooks
16 and Dr. Rosenberg to their positions?

17 A. Yes.

18 Q. Now, in regard to Dr. Brooks and
19 Dr. Rosenberg's duties and responsibilities, if
20 you could, to the best of your knowledge,
21 describe for me what it was that they were
22 supposed to do at the center.

23 A. Their responsibilities were similar.
24 Each was responsible for interpreting studies,
25 each was responsible together with the

1 technologist for education of the technicians and
2 whatever quality control was required.

3 Q. Did they also provide evaluations of
4 patients, aside from just interpreting studies?

5 A. The evaluation of patients was
6 separate from their responsibilities within the
7 sleep center.

8 Q. And when you say separate, what do you
9 mean by that?

10 A. Both Dr. Rosenberg and Dr. Brooks saw
11 patients outside of the sleep center in regular
12 outpatient settings, and those patients may or
13 may not have had anything to do with the sleep
14 laboratory itself.

15 Q. Doctor, are you familiar with an
16 organization called the American Sleep Disorders
17 Association? I believe **its** now changed its name
18 to American Academy **of** Sleep Medicine.

19 A. I only know of it as a certifying
20 body.

21 Q. Are you a member of that organization?

22 A. No.

23 Q. Now, in February of **1996**, University
24 sleep center was accredited by that American
25 Sleep Disorders Association; correct?

1 A. Yes.

2 Q. Did you participate in that
3 accreditation?

4 A. I believe so, yes. The accreditation
5 process occurred while I was director.

6 Q. I believe it was accredited in
7 November of 1994. And you indicated that you
8 took over probably in January of 1994?

9 A. It was an accredited sleep laboratory
10 and the accreditation was carried over to the new
11 laboratory, and that required a review by that
12 accrediting body. I am not certain that that
13 accrediting body is incidentally the same as you
14 told me. I may have confused their titles.
15 There is one accrediting body which provides
16 accreditation for physicians and another which
17 accredits the laboratory, and I don't know if
18 they are the same.

19 Q. You don't know whether the American
20 Sleep Disorders Association accredited the sleep
21 lab?

22 A. I can't remember which is which.

23 Q. What does it mean when a sleep center
24 is accredited by the American Sleep Disorders
25 Association?

1 A. It indicates that the laboratory has
2 conformed to their rules and regulations and
3 standards for performance of sleep tests.

4 Q. And by undergoing accreditation and
5 receiving accreditation, does the sleep center
6 agree to operate based on those standards?

7 A. I believe so, yes.

8 Q. Do you consider the American Sleep
9 Disorders Association -- which is the title that
10 was appropriate in 1996 -- an organization that
11 provided authoritative information on the subject
12 of sleep disorders and sleep disorder treatment
13 to practitioners in the field?

14 MR. KREMER: If you know that,
15 doctor.

16 MS. CUTHBERTSON: Objection.

17 A. No.

18 Q. You don't believe that they provided
19 authoritative information?

20 A. **No**, I don't know that they did. I
21 have no way of evaluating their competence in the
22 field.

23 Q. Well, did you -- you participated in
24 1994. I understand that the sleep center was
25 reaccredited here at University Hospital in '97.

1 Did you participate in that accreditation?

2 A. I think probably the reaccreditation
3 was done after Dr. Rosenberg had taken over as
4 director.

5 Q. Did you sign any type of accreditation
6 materials acknowledging that you agreed with the
7 reports that were being provided --

8 A. I don't recall.

9 Q. -- to the American Sleep Disorders
10 Association?

11 A. In 1997?

12 Q. Yes.

13 A. I don't recall.

14 Q. As the director of the University
15 sleep center, understanding that you had
16 co-medical directors, in 1996, were you required
17 to adhere to the accreditation standards?

18 MS. CUTHBERTSON: Objection.

19 A. I think that if we had failed to
20 adhere to those standards, we would no longer be
21 accredited.

22 Q. Well, my question was, were you
23 required to adhere to them?

24 MS. CUTHBERTSON: Same objection.

25 A. The answer is the same. I mean, if I

1 failed to adhere to their accreditation
2 standards, then I would no longer be accredited
3 after appropriate review.

4 Q. Was it your understanding that you
5 were to adhere to those standards in your
6 management of the sleep center?

7 MS. CUTHBERTSON: Objection.

8 A. If I chose to maintain accreditation,
9 I would try to adhere to those standards. If I
10 disagreed with such a standard, then I would
11 accept lack of accreditation rather than violate
12 my own sense of rule.

13 Q. My question is, in 1996, was it your
14 understanding that you were to adhere to the
15 standards of accreditation that were in effect at
16 that point in time?

17 MS. CUTHBERTSON: Objection.

18 A. We would have made every effort to
19 adhere to those standards unless they violated
20 some other.

21 Q. Are you aware of any that violated --

22 A. I am not aware of any that conflicted
23 between the two.

24 Q. Doctor, in February of 1996, did each
25 patient that was seen at the sleep center have a

1 clearly identifiable staff physician who was
2 responsible for the patient care throughout his
3 or her active status at the sleep disorder
4 center?

5 MS. CUTHBERTSON: Objection.

6 A. During the interval of the sleep
7 study, a physician may or may not have been in
8 attendance.

9 Q. I didn't ask if there had to be
10 someone in attendance. I asked if there was an
11 identifiable staff physician who was responsible
12 for the patient's care throughout his or her
13 active status at the sleep disorder center?

14 MS. CUTHBERTSON: Objection.

15 A. It's hard to answer. Maybe I can be
16 more precise.

17 There was a physician identified who
18 would be responsible for the interpretation of
19 the test during that month. If in the
20 technician's judgment medical intervention was
21 required, then that physician also would be
22 contacted by the technician. If emergencies
23 arose, the technicians could contact anybody they
24 wanted.

25 Q. And that assignment of a physician was

1 done by the sleep center based on whoever, which
2 of the co-medical directors was responsible for
3 the sleep center for that month?

4 MS. CUTHBERTSON: Objection to the
5 term assignment.

6 A. I believe so.

7 Q. Your answer was yes?

8 A. My answer was I believe so, yes.

9 Q. Were the sleep center staff
10 physicians, the co-medical directors required to
11 obtain a sleep data base personally or from a
12 referring physician and review it to determine if
13 the information was current and complete for
14 every patient that was seen at the sleep center?

15 A. I can't remember precisely. My
16 recollection is that a request for a sleep study
17 was reviewed by a technologist who was expected
18 to acquire certain information. If that
19 information was not available, then that would be
20 acquired either by the technologists or by the
21 physician.

22 Q. Was a sleep center staff physician
23 required to determine if the test that was
24 requested by a referring physician was
25 indicated?

1 MS. CUTHBERTSON: Objection.

2 A. No. The medical co-director might
3 offer advice if they recognized a major
4 surprising finding, but it was not their
5 responsibility to judge the medical indication
6 for the test.

7 Q. Do you know whether the accreditation
8 standards required that the staff physicians
9 determine if the test requested by a referring
10 physician was indicated?

11 A. I don't know.

12 Q. Were the sleep center staff physicians
13 required to recommend other testing if it was
14 indicated?

15 A. I don't know if it was a requirement
16 to that point.

17 Q. Do you know whether the sleep center
18 staff physicians were required -- were the sleep
19 center staff physicians required to document in
20 the patient's chart that they had reviewed
21 evidence of a patient's recent general clinical
22 workup prior to testing the patient?

23 MS. CUTHBERTSON: Objection.

24 A. I don't know the requirements for
25 documentation.

1 Q. **As** a director of the University sleep
2 center, were you responsible for quality control
3 and assurance or was that the responsibility of
4 your co-medical directors?

5 A. It was my responsibility to be sure
6 that there were quality control measures. Most
7 of the quality control was carried out by the
8 medical co-directors.

9 Q. **Do** you know whether the sleep center
10 had a policy defining its patient acceptance
11 criteria.

12 A. I don't know what patient acceptance
13 criteria is.

14 Q. Criteria that defined what patients
15 would be accepted to the lab and which ones would
16 not be.

17 A. No. Usually it was the responsibility
18 of the laboratory to provide a service, not to
19 deny a service.

20 Q. **So** any referring doctor could request
21 the test to be done, and the test would then be
22 done providing they had provided appropriate
23 information on the patient's history and
24 physical?

25 A. Yes. Unless the technologist or the

1 supervising physician found a reason for the test
2 not to be done, I would think that would be the
3 case.

4 Q. Were all of the sleep studies
5 scheduled through one central location at the
6 sleep center?

7 A. Yes.

8 Q. Who would determine the priority for
9 scheduling of sleep studies?

10 A. That would have been a decision
11 arrived at jointly between the senior
12 technologist and the responsible medical director
13 for that month.

14 Q. Do you know what the process is after
15 a referral to the center was received, what
16 process that referral went through in order to
17 get the sleep study scheduled?

18 A. I don't know the details of the
19 process. The outline of the process as we
20 discussed, the technologist was to acquire
21 sufficient information **so** they would know the
22 kind of tests they had to perform and then
23 discuss that with the supervising co-director.

24 Q. Now, if a referral came in asking for
25 a workup as well as a sleep study, what would

1 happen to that type of a referral?

2 A. The workup would be performed, again,
3 outside the sleep center itself. That workup
4 could be performed either by Dr. Brooks or by Dr.
5 Rosenberg or by other physicians with expertise
6 in sleep.

7 Q. And who would be responsible for
8 transmitting that information, something other
9 than just the sleep study being requested?

10 A. The physician requesting the test.

11 Q. Well, if he writes out a referral form
12 and sends it to the sleep center, I am asking
13 once it arrives at the sleep center how the
14 information gets to the appropriate person?

15 A. The requisition for the sleep center
16 would be used for scheduling the test itself but
17 would not be used for requesting consultation
18 necessarily by one of the other physicians
19 outside of the sleep center.

20 Q. And how would that -- would the sleep
21 center transmit that information to the physician
22 then?

23 A. No. The referring physician, if they
24 wished further opinion aside from the
25 interpretation of the polysomnogram, they would

1 direct that directly to a particular physician.

2 Q. If a referral comes in asking for a
3 sleep study, a workup, and it had Dr. Rosenberg's
4 name on it, would that referral be given to Dr.
5 Rosenberg since he was associated with the sleep
6 center?

7 A. I am not sure how that would be
8 handled. The sleep center would perform the
9 polysomnogram. The requisition that I have
10 looked at is directed to the sleep center. I am
11 not certain **of** the mechanism of providing
12 additional outpatient consultation by one
13 physician or the other. Those would be separate
14 events.

15 Q. Okay. Well, let's talk a little bit.
16 You have reviewed the referral and you said that
17 that particular referral that is contained in the
18 sleep center records for Patricia Smith was sent
19 to the sleep center; correct?

20 A. Yes.

21 Q. And that's in her case how her sleep
22 study was scheduled; correct?

23 A. I believe **so**.

24 Q. Now, that particular referral also
25 includes a request for a workup. Did you see

1 that?

2 A. No, I didn't. But I can look at it.
3 Do you want me to look at it now?

4 Q. Yes, please.

5 I believe at the bottom **of** the
6 handwritten area it says workup requested, Dr.
7 Collins. There is a W/U?

8 A. I am going to attempt to read this.
9 It says the patient has been recently diagnosed
10 with seizure disorder. Request evaluation for
11 sleep study. As concerns patient, may
12 desaturate. As etiology, seizure disorder.
13 Workup requested Dr. Stephen Collins.

14 Q. And then below that it has a number of
15 visits and there is a three written in there.

16 A. Yes.

17 Q. Okay. Now, this is the request that
18 was sent to the sleep center and then
19 subsequently the sleep study was scheduled;
20 correct?

21 A. Yes. Now, this would have been
22 interpreted as a request for a sleep study. And
23 it ordinarily **is** a separate request for an
24 outpatient evaluation.

25 Q. Okay. Now, normally a sleep study

1 doesn't take more than one visit, though, does
2 it, doctor?

3 MS. CUTHBERTSON: Objection.

4 MR. KREMER: If you know.

5 A. An individual can be evaluated on
6 several occasions for sleep disorders.

7 Q. In most cases does it take more than
8 one visit?

9 MS. CUTHBERTSON: Objection.

10 A. It depends upon the nature of the
11 problem. If a diagnosis can't be arrived at on
12 one visit, more may be asked.

13 Q. And if they do a CPAP titration, it
14 can take more than one visit?

15 A. It can, yes.

16 Q. In this instance it has a referral to
17 Dr. Carl Rosenberg. Do you see that specialist's
18 name on there?

19 A. Yes.

20 Q. Okay. In other words, if this
21 particular referral was received by the sleep
22 center, it's your testimony that the information
23 for a workup, which was requested by Dr. Stephen
24 Collins, would not be transmitted to Dr.
25 Rosenberg to do the workup?

1 MS. CUTHBERTSON: Objection.

2 A. It's my impression that the
3 interpretation of this would be a request for a
4 sleep study.

5 Q. Now, we looked at a reservation form
6 in which, I believe, the admitting physician
7 listed on the reservation form is Dr. Brooks.

8 A. Was this the form that you are
9 referring to?

10 Q. Yes.

11 A. Okay.

12 Q. And also, I believe, contained in
13 those records we have a preliminary report and a
14 final report that is signed by Dr. Brooks?

15 A. Yes.

16 Q. Okay. And in this instance, the
17 referral has Dr. Rosenberg's name on it, but Dr.
18 Brooks was the one that did the sleep study, or
19 rather interpreted the sleep study and is listed
20 as the admitting physician.

21 Now, can you tell me why that would
22 be?

23 A. Again, this would have been
24 interpreted as a request for polysomnography.
25 The requesting physician could not be aware which

1 medical co-director was responsible at that
2 month, and so they would make the request to the
3 laboratory and the laboratory would be met by one
4 of the other directors.

5 Q. So it would be whoever was taking over
6 for that particular month?

7 A. Yes.

8 Q. And your interpretation of this
9 particular referral is that by workup, that
10 refers only to a sleep study; correct?

11 A. Yes.

12 Q. Once a referral requesting a sleep
13 study is received by the sleep center, how long
14 would it usually take before the patient received
15 the sleep study?

16 MS. CUTHBERTSON: Objection.

17 Q. And again, we are speaking of February
18 of 1996.

19 A. I can only answer that in general
20 terms. The interval between request and
21 performance of the study would be influenced by
22 the number of people needing such studies, the
23 relative severity of the illness, and the age of
24 the person.

25 Q. Now, doctor, are you qualified -- and

1 I don't know the answer to this -- but are you
2 qualified to make a determination as to the
3 severity of someone's illness as to whether they
4 should have a high priority or lower priority?

5 MR. KREMER: Objection.

6 A. With respect to priority, it's the
7 pediatric population to severity.

8 Q. In regard to adult patients, are you
9 in a position to say what conditions would make
10 it a higher priority to have a sleep study done
11 as opposed to a lower priority?

12 A. As I said, I am not involved in those
13 decisions. It would have been the decision of
14 the medical co-director.

15 Q. Was there any system in place to
16 expedite the scheduling of the sleep study if
17 there was a medical concern regarding a patient?

18 A. The intention of acquiring information
19 about the patient was to help the technologist
20 and the co-director decide about scheduling. If
21 they felt that the patient was a special risk,
22 and usually dealing with pediatric patients, we
23 would expedite the study.

24 Q. Are you aware of any instances where a
25 study was expedited for an adult patient?

1 A. No.

2 Q. Do you know whether patients were ever
3 referred to another sleep center in the Cleveland
4 area that may have had a shorter waiting list
5 than what may have been available here at
6 University Hospitals?

7 A. I am not aware of such instances.

8 Q. Do you know whether in February of
9 1996 there were any other accredited sleep
10 centers in the City of Cleveland?

11 A. There are several sites in the
12 vicinity of Cleveland that carry out sleep
13 studies. At that time, sleep studies were
14 carried out at Mt. Sinai, to my knowledge, and
15 also The Cleveland Clinic Foundation, to my
16 knowledge. And I believe there were other sites,
17 but I don't know specifically what they were.
18 And I don't know the precise nature of the
19 accreditation of the various laboratories.

20 Q. Now, if a patient came into the sleep
21 center for a sleep study, the physician that was
22 handling the lab for that month would be the
23 physician that would interpret the sleep study
24 that month; correct?

25 A. That was the usual circumstance.

1 Q. Okay. How -- if you know this,
2 doctor. I realize that this is not your area of
3 expertise.

4 Do you know how long it takes for a
5 physician to review the raw data in a sleep
6 study?

7 A. It isn't my area of expertise. My
8 understanding of the steps involved is that the
9 raw data is actually reviewed by the technologist
10 first who prepares an assessment of the study.
11 That assessment together with the raw data is
12 then reviewed by the interpreting physician. The
13 technologist may be asked to review the raw data
14 on several occasions if the medical director is
15 not satisfied with the accuracy of their
16 reporting.

17 Q. Okay. And do you know how long the
18 process takes from the time that the study is
19 completed until the time that the analysis of the
20 data is completed, generally?

21 A. No, because, again, that would depend
22 on how many times it was necessary to review the
23 information.

24 Q. Do you know what the average interval
25 between a polysomnogram overnight test and the

1 transmittal of the completed final report to the
2 referring physician was in February of 1996?

3 A. That was my question to Dr.
4 Rosenberg. And he said it was not unusual for
5 that period of time.

6 Q. To be four weeks?

7 A. Yes.

8 Q. Or longer?

9 A. He didn't say that interval. Four
10 weeks was not unusual for the time.

11 Q. Where did you get the four weeks
12 number from?

13 A. From the time of the test, which was
14 the 6th.

15 Q. Until?

16 A. Until the typing of the test reports,
17 which was approximately -- the note appeared to
18 me to be an indication of when the test was
19 typed.

20 Q. And the date on that was, I believe,
21 March?

22 A. This is the piece of paper you and I
23 looked at earlier.

24 Q. March 6th?

25 A. Yes.

1 Q. Do you know whether the accreditation
2 standards had any criteria that dealt with how
3 soon final reports were to be submitted to
4 referring physicians?

5 A. I don't know the standards in effect
6 at that time.

7 Q. If the standard said that it was
8 supposed to be a shorter interval, would you
9 agree that the sleep center should have been
10 adhering to those standards?

11 MS. CUTHBERTSON: Objection.

12 A. Again, the most important thing is the
13 accuracy of the interpretation. If the medical
14 co-director felt that the study needed to be
15 reviewed on more than one occasion, that in my
16 mind would take precedence.

17 Q. Okay. And in Patricia Smith's case,
18 do you know whether or not her study had to be
19 reviewed on more than one occasion?

20 A. I do not know.

21 Q. There was a letter that was sent by
22 Dr. Brooks in this case that you had an
23 opportunity to review. It was sent out, I
24 believe, the day after the sleep study. And we
25 have referred to it generically as a preliminary

1 report.

2 Under what circumstances would a
3 preliminary report be sent out?

4 A. The intention was to send out a
5 preliminary report after every examination.

6 Q. And were there any particular things
7 that were to be included in the preliminary
8 report?

9 A. What was to be included in the
10 preliminary report was a guide to the physician
11 until the final information could be processed
12 and made available.

13 Q. Now, in regard to the final reports
14 that were generated, what information was
15 included in the final reports?

16 A. The information varied test to test.
17 And you have provided me with a copy of the
18 individual's data.

19 Q. Okay. So now --

20 A. **So** that includes a numeric descriptor
21 of the polysom itself, a summary and
22 interpretation by the physician.

23 Q. Would implications and recommendations
24 be conveyed to the referring physician along with
25 the final report?

1 MS. CUTHBERTSON: Objection.

2 A. That would be at the discretion of the
3 medical co-director.

4 Q. Do you know whether information
5 regarding implications and recommendations were
6 placed in the patient's chart?

7 A. I don't know.

8 Q. Doctor, assuming that the
9 accreditation standards that were in effect in
10 1996 stated that recommendations and implications
11 were to be included in the final report, or along
12 with the final report, if University Hospitals'
13 sleep lab was not generating such a report, would
14 you agree that that would be substandard?

15 MS. CUTHBERTSON: Objection.

16 A. The requirement for recommendation
17 depends upon what was actually in the report.
18 And so that requirement would vary individual to
19 individual.

20 Q. And in Patricia Smith's report at the
21 bottom it says that she has severe obstructive
22 sleep apnea with oxygen desaturations as low as
23 60 percent.

24 Do you have an opinion as to whether
25 recommendations were appropriate in her case?

1 MS. CUTHBERTSON: Objection.

2 A. No, that's a description of the
3 polysom and it doesn't -- as we previously
4 pointed out, I am not an expert in the sleep
5 medicine, but I don't think that description
6 necessitates a specific recommendation.

7 Q. Do you know whether the accreditation
8 criteria requires that recommendations be made in
9 regard to treatment and follow-up for all
10 patients --

11 MS. CUTHBERTSON: Objection.

12 Q. -- that are seen in the lab?

13 A. I don't know the details of the
14 accreditation standards.

15 Q. Now, doctor, there is at the top of
16 the page on that final report an indication of
17 two referring doctors. I believe it says
18 Dr. Rowane and Dr. Collins; correct?

19 A. Yes.

20 Q. Okay. If two doctors referred the
21 patient to the sleep center, would it be the
22 sleep center's policy to send a report to both
23 referring physicians?

24 A. I don't know the precise policy. The
25 default would be to send the report to the

1 physician who requested the study. If he or she
2 indicated that another physician should be
3 informed, then both would be informed.

4 Q. Were the results of the overnight
5 polysomnograms done in February of '96 provided
6 to the patient?

7 A. No.

8 Q. And what was the basis for not
9 providing them to the patient?

10 A. Virtually all laboratory data
11 information is provided to the physician, and the
12 physician serves as an interpreter for the
13 patient.

14 Q. Did the sleep center do anything to
15 ensure that the patient eventually received these
16 results, other than sending out the written
17 reports?

18 MS. CUTHBERTSON: Objection.

19 A. I am not aware that that is either
20 policy or whether they attempted to do that.

21 Q. Was there any system in place for
22 patient follow-up in the sleep center?

23 A. The sleep laboratory does not require
24 follow up. It carries out designated sleep
25 tests.

1 Q. Do you know whether most patients with
2 sleep disorders require follow-up care?

3 A. I don't know the precise numbers. I
4 would say that the likelihood that they require
5 follow-up care depends upon individual clinical
6 circumstance.

7 Q. Are you aware of the policies and
8 procedures that the sleep lab had in regard to
9 administration of tests or in carrying out CPAP
10 titrations?

11 A. An overview, yes.

12 Q. Can you tell me in February of 1996 if
13 the University sleep center had written policies
14 in regard to the administration of overnight
15 polysomnograms?

16 A. My recollection is that there were two
17 patterns of CPAP determinations. One pattern, a
18 full sleep study was obtained, and then CPAP
19 determinations were done. The second pattern,
20 the first half of the study was used for
21 polysomnography and the second half of that
22 evening was used for CPAP titration.

23 Q. That's sometimes referred to as a
24 split study?

25 A. Yes.

1 Q. And it's your understanding that there
2 were written policies for both of those
3 procedures in February of 1996?

4 A. There were procedures for the
5 mechanics of carrying them out. The decision
6 about which was appropriate for a particular
7 patient I think was not reduced to the level of
8 an algorithm.

9 Q. That would be a clinical judgment
10 there?

11 A. Yes.

12 Q. But in regard to the actual procedure
13 for carrying out those two different types of
14 studies, there were written policies and
15 procedures in February of 1996?

16 A. I think there should have been
17 procedures for carrying out each, yes.

18 Q. Do you know where those particular
19 written policies and procedures are at the
20 current time?

21 A. No.

22 Q. Okay. Who was custodian of those
23 policies and procedures?

24 A. The medical directors would jointly be
25 the custodians of those procedures and they would

1 know presumably where the procedures were kept.

2 Q. Have you been asked at any point over
3 the last year or so to try to locate those
4 policies and procedures that were in effect in
5 February of 1996?

6 A. No.

7 Q. Okay. If I asked you now to find
8 those, would that be something that you may be
9 able to do?

10 A. I would start by walking over to the
11 laboratory and asking them. I have never been
12 the custodian of documents like that.

13 Q. Would Dr. Rosenberg be the person that
14 you would make that inquiry to?

15 A. Today? Yes.

16 Q. If a patient was determined to have
17 severe obstructive sleep apnea, do you know how
18 long it would take before they would be able to
19 be rescheduled for a CPAP titration?

20 A. I don't know. Again, the availability
21 of the slot would depend upon other patients and
22 particularly the pediatric population.

23 Q. In February of 1996, do you know
24 whether the University sleep lab had facilities
25 for doing portable sleep studies?

1 A. In '96? No, I think it did not. You
2 have to be careful. There are portable sleep
3 studies done at home and portable sleep studies
4 done in the hospital setting. We did not have
5 portable studies done at home.

6 Q. As director of the University sleep
7 center in February of 1996, was it your
8 responsibility to make sure that the University
9 sleep center was operating in conformance with
10 the accreditation standards set by the American
11 Sleep Disorders Association?

12 A. As we said, we would adhere to those
13 standards until it conflicted with other
14 standards. And those other standards would be at
15 the discretion of the medical co-directors.

16 Q. And I believe you said you weren't
17 aware of any conflicts --

18 A. As far as I know, that's correct.

19 Q. -- in those standards?

20 Would it be your expectation if there
21 was a conflict in the standards that your
22 co-medical directors would bring that to your
23 attention?

24 A. If conflicts developed consistently
25 and required a systematic change, they would

1 bring that to my attention.

2 Q. Now, doctor, in regard to what
3 criteria would be indicators for treatment of a
4 patient with severe obstructive sleep apnea, are
5 you qualified to make those types of decisions or
6 would you defer to one of the doctors that is
7 certified in sleep disorders?

8 A. I think they would have a more
9 informed opinion than I do.

10 Q. Do you have an opinion as to whether
11 or not a patient such as Patricia Smith who was
12 found to have severe obstructive sleep apnea and
13 oxygen desaturations falling to 60 percent,
14 whether she should have been recommended for
15 prompt treatment?

16 MS. CUTHBERTSON: Objection.

17 A. Again, I don't have a subspecialist's
18 opinion in this situation.

19 Q. Now, I understand that during the
20 renovation that took place in 1997 that the sleep
21 center received some upgraded equipment during
22 the renovation. Do you know whether the
23 equipment that was in the lab in February of
24 1996, whether it accurately and reliably measured
25 oxygen saturations that were in the 60 percent

1 range?

2 A. As far as I'm aware, they were
3 accurate. The change in equipment was primarily
4 from paper base recording to digital recording.
5 The accuracy of the instruments was not in
6 question.

7 Q. Well, I was speaking in regard to the
8 one specific parameter which was the oxygen
9 saturations.

10 A. As far as I'm aware, the accuracy is
11 not an issue.

12 Q. Okay. Do you have any recollection of
13 having any contact with Patricia Smith when she
14 was seen at the sleep center?

15 A. No.

16 Q. Have you ever discussed, other than
17 the conversation that you mentioned with Dr.
18 Rosenberg today, did you ever have any
19 conversations with anyone regarding Patricia
20 Smith's care in this case?

21 A. I have no recollection **of** any such
22 discussions.

23 Q. Have you had any contact with Dr.
24 Brooks in the last year?

25 A. No, Dr. Brooks left to go to another

1 institution.

2 Q. What about with Dr. Collins?

3 A. I haven't heard from Dr. Collins in a
4 long time.

5 Q. Now, Dr. Collins was an associate of
6 yours; is that correct?

7 A. Yes.

8 Q. He was also a member of University
9 Neurologists?

10 A. Yes.

11 Q. Dr. Rosenberg is also an associate of
12 yours?

13 A. Yes.

14 Q. Do you all work in the same -- at the
15 time when they were here in Cleveland, did you
16 all work in the same office?

17 A. No. We were in different offices
18 throughout the institution.

19 Q. Other than the documents that we have
20 just looked at that are before you on the table,
21 do you have any other personal notes or personal
22 file on this case?

23 A. No, I do not.

24 Q. Now, doctor, you mentioned that you
25 had acted as an expert in a medical/legal

1 proceeding before and had your deposition taken
2 three times.

3 How often do you do medical/legal
4 reviews?

5 A. Rarely. I mean, that's three
6 instances over 21 years of practice.

7 Q. Have you ever worked with Ms.
8 Cuthbertson's office before?

9 THE WITNESS: Which one is your
10 office?

11 MS. CUTHBERTSON: I guess that answer
12 would be no.

13 Q. Have you ever worked with an attorney
14 by the name of Kris Treu before?

15 A. No.

16 Q. Have you served as an expert in any
17 case that dealt with questions related to severe
18 obstructive sleep apnea?

19 A. No.

20 Q. Or sudden death in sleep?

21 A. No.

22 Q. Have you had your deposition taken
23 before in the Cleveland area? Let me ask that
24 again.

25 Were the cases that you served as an

1 expert in the Cleveland area?

2 A. I have had at least one deposition
3 done in the Cleveland area.

4 Q. Okay. Have you given trial testimony
5 before?

6 A. In a courtroom?

7 Q. Yes.

8 A. No.

9 Q. Have you ever been named as a
10 defendant in a medical negligence suit?

11 A. I had a single 180 day paper, whatever
12 that was, which didn't come to an action.

13 Q. And you are currently licensed to
14 practice medicine in the State of Ohio; correct?

15 A. Yes.

16 Q. And in February of 1996, were you also
17 licensed?

18 A. Yes.

19 MS. TOSTI: Doctor, I don't think I
20 have any further questions for you. Ms.
21 Cuthbertson may have some.

22 EXAMINATION OF D.M.D. LANDIS, M.D.

23 BY-MS. CUTHBERTSON:

24 Q. I just have one or two questions for
25 you, doctor. As I told you, I represent

1 University Hospitals of Cleveland in this case.

2 In February of '96, if a referring
3 physician wanted a patient to be evaluated by Dr.
4 Brooks or Dr. Rowane, what process would the
5 referring doctor follow?

6 A. It would be the same as any request
7 for a neurological opinion.

8 MS. TOSTI: Let me correct something,
9 you said Dr. Brooks or Rowane. Brooks or
10 Rosenberg.

11 MS. CUTHBERTSON: Rosenberg.

12 A. The request would be directed to the
13 their secretaries or central scheduling office,
14 and I am not sure when the central scheduling
15 office was in action and working at that point.

16 Q. Is there a form that's completed?

17 A. Not necessarily. The request can be
18 verbal. Forms may be required by the insurance
19 company.

20 MS. CUTHBERTSON: That's the only
21 question I had.

22 MS. TOSTI: I think we are all done.
23 I thank you for your time.

24 MR. KREMER: You have the opportunity
25 to review what the court reporter has taken down

1 as your testimony in this matter. You can waive
2 that right if you like.

3 I have never worked with this
4 particular court reporter before. I am sure she
5 did a fine job, but that is your personal
6 decision whether you wish to review this before
7 it is actually transcribed.

8 THE WITNESS: I will go with your
9 recommendation.

10 MR. KREMER: We will waive.

11 - - - -

12 (Deposition concluded at 3:00 p.m.;
13 signature waived.)

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


CERTIFICATE

State of Ohio,)
) SS:
County of Cuyahoga.)

I, Vivian L. Gordon, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named D.M.D. LANDIS, M.D. Was by me first duly sworn to testify to the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony as above set forth was by me reduced to stenotypy, afterwards transcribed, and that the foregoing is a true and correct transcription of the testimony.

I do further certify that this deposition was taken at the time and place specified and was completed without adjournment; that I am not a relative or attorney for either party or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 18th day of November, 1999.



Vivian L. Gordon, Notary Public
Within and for the State of Ohio

My commission expires June 8, 2004.

1	INDEX		
2	EXAMINATION OF D.M.D. LANDIS, M.D.		
3	BY-MS. TOSTI:	3	6
4	EXAMINATION OF D.M.D. LANDIS, M.D.		
5	BY-MS. CUTHBERTSON:	57	22
6			
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			