

ELIZABETH ANN DYKES  
AND JOE G. DYKES, JR.

\* IN THE DISTRICT COURT OF

VS .

\* BOWIE COUNTY, T E X A S

COLLOM & CARNEY CLINIC,  
JOHN D. FISHER, M.D.  
AND ERIC HALL, M.D.

\* 102ND JUDICIAL DISTRICT

\*\*\*\*\*  
VIDEO DEPOSITION OF  
MONTAGUE LANE, M.D.  
October 18, 1993  
\*\*\*\*\*

Doc. 266

# INDEX

|  | Page |
|--|------|
| APPEARANCES . . . . .                        | 4    |
| PRELIMINARY PROCEEDINGS . . . . .            | 5    |
| MONTAGUE LANE, M.D.                          |      |
| Examination by MR. ONSTAD . . . . .          | 5    |
| Examination by MR. TWINING . . . . .         | 105  |
| Examination by MR. POLEWSKI. . . . .         | 148  |
| Further Examination by MR. ONSTAD. . . . .   | 151  |
| Further Examination by MR. POLEWSKI. . . . . | 159  |
| EXHIBITS                                     |      |
| Exhibit No. _____ Marked at Page             |      |
| 1 . . . . .                                  | 11   |
| 2 . . . . .                                  | 13   |
| 3 . . . . .                                  | 13   |
| 4 . . . . .                                  | 115  |

1

2

## DEPOSITION OF MONTAGUE LANE, M.D.

3

4 taken on the 18th day of October, 1993 between the hours

5 of 2:25 p.m. and 6:25 p.m., before Janet M. Canton, a

6 certified Shorthand Reporter and Notary Public in and

7 for the State of Texas, at the offices of Giessel,

8 Stone, Barker &amp; Lyman, 2700 Two Houston Center, Houston,

9 Texas, pursuant to Notice, the Texas Rules of Civil

10 Procedure and the stipulations of counsel.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

## 2 A P P E A R A N C E S :

3

4

## 5 COUNSEL FOR PLAINTIFFS:

6

Rockne Onstad  
Onstad, Kaiser & Fontaine  
1360 Post Oak Blvd.  
Suite 700  
Houston, Texas 77056

8

9

10 COUNSEL FOR DEFENDANTS COLLOM & CARNEY CLINIC  
AND ERIC HALL, M.D.:

11

John P. Polewski  
Stradley & Wright  
9330 LBJ Freeway  
Suite 1400  
Dallas, Texas 75243

12

13

14

## 15 COUNSEL FOR DEFENDANT JOHN D. FISHER, M.D.:

16

Jim Barker  
H. Kent Twining  
Deborah L. Novick  
Giessel, Stone, Barker & Lyman  
909 Fannin  
Suite 2700  
Houston, Texas 77010

17

18

19

20

## 21 VIDEOGRAPHER:

22

Carl P. Cobb  
Giessell, Stone, Barker & Lyman

23

24

25

1

2

3

4

5

6

7

8 having been first duly sworn, testified as follows:

9

10

## EXAMINATION

11 BY MR. ONSTAD:

12 Q.

Dr. Lane, my name is Rockne Onstad. We have never met before, have we?

13 A.

No, sir.

14 Q.

How is it that you got involved in this case?

15 A.

A call was received in my office. I believe the call had been made by Ms. Novick. My secretary, received the call -- this is, I don't know, about three weeks ago, two weeks ago -- requesting that -- whether I would be willing to review some records in a particular case. And I indicated yes, I'd be willing to review records.

16 Q.

Were you asked specifically what to do in this case?

17 A.

No. Because -- well, except in the letter, which

1 was to review the records and come to an opinion  
2 one way or another as to the allegations in the  
3 petition. That's what I presumed was requested.  
4 Q. Did you bring a copy of that letter with **you**  
5 today?  
6 A. Yes, sir.  
7 Q. Where is it?  
8 A. It's all here. All the letters are here.  
9 MR. TWINING: It was in this first  
10 stack of materials we had labeled with the  
11 sticky note of No. 1.  
12 Q. (By Mr. Onstad) All right. Let me kind of go  
13 through this stack. Lawyers have some legal  
14 process called a list of things they want the  
15 witness to bring, and did you review the list of  
16 things that you were requested to bring?  
17 A. Yes, sir.  
18 Q. And this item one is the materials that you have  
19 received for review in this case?  
20 A. Correct.  
21 Q. The stack -- let me go through them and make sure  
22 I've got it all right. It appears to be the  
23 deposition and exhibits of Dr. 'Alvin Cohn. Did  
24 you --  
25 A. Yes, I got to review that yesterday for the first

- 1 time.
- 2 Q. You reviewed it all?
- 3 A. Yes, sir.
- 4 Q. You looked at the original mammograms?
- 5 A. Yes, sir.
- 6 Q. You looked at the report of Dr. John Martin?
- 7 A. I did.
- 8 Q. Do you know Dr. John Martin?
- 9 A. Not personally.
- 10 Q. Do you know who he is?
- 11 A. Yes.
- 12 Q. Do you know about his reputation?
- 13 A. Yes.
- 14 Q. Is it good?
- 15 A. Fairly good.
- 16 Q. Well, did he write a book called the Atlas of
- 17 Mammography?
- 18 A. So they say, but I haven't read it.
- 19 Q. You haven't read it?
- 20 A. No, sir.
- 21 Q. Have you ever read any books on mammography?
- 22 A. I looked at some books on mammography.
- 23 Q. Can you tell me which ones they are?
- 24 A. I can't remember.
- 25 Q. The next item here is the deposition and exhibits

- 1 of Dr. Schapira. Did you read it?  
2 A. I did.  
3 Q. Do you know Dr. Schapira?  
4 A. No, sir.  
5 Q. Do you know of him?  
6 A. No, sir.  
7 Q. Is he at a major cancer center?  
8 A. I'm sorry?  
9 Q. Do you know if he has a position at a major  
10 cancer center?  
11 A. Well, he lists that he's at the, I think, South  
12 Florida Cancer Center.  
13 Q. Is that a major cancer center?  
14 A. I'm not familiar with it firsthand.  
15 Q. Do you know its reputation?  
16 A. No.  
17 Q. Are you at a major cancer center?  
18 A. We don't identify ourselves as a cancer center.  
19 Q. Are you at M.D. Anderson?  
20 A. No, sir.  
21 Q. Do you have privileges at M.D. Anderson?  
22 A. No, sir.  
23 Q. Have you ever practiced at M.D. Anderson?  
24 A. No, sir.  
25 Q. Is it a major cancer center --



- 1 A. It is.  
2 Q. -- close to where you office?  
3 A. Yes, sir.  
4 Q. The next item I have is the deposition of John  
5 Fisher. Did you study it?  
6 A. I did.  
7 Q. The next item here is the CV or resume of Dr.  
8 Schapira, David Schapira. Did you study it?  
9 A. I did.  
10 Q. Has he published quiet a few articles in the area  
11 of breast cancer?  
12 A. Yes.  
13 Q. Have you published any articles specifically  
14 dealing with breast cancer?  
15 A. Some.  
16 Q. Are they listed on your CV?  
17 A. They should be.  
18 Q. And you looked at the deposition of Dr. Hillis?  
19 A. Yes, I have.  
20 Q. The deposition of Rex Thomas?  
21 A. Yes.  
22 Q. What do you --  
23 A. Simmons.  
24 Q. Oh, I'm sorry. I just read the first -- Rex  
25 Simmons. Who do you understand him to be?

- 1 A. I understand that he is the administrator at the  
2 clinic where Dr. Fisher was working at the time  
3 of the events.  
4 Q. And you read the deposition of Dr. Eric Hall?  
5 A. Yes, I have.  
6 Q. Read the deposition of Tom Hillis?  
7 A. Yes, sir.  
8 Q. The report of Dr. Charles Hollingsworth?  
9 A. Yes, sir. Plastic surgeon. Uh-huh.  
10 Q. Looked at the records from Texas Oncology?  
11 A. I have.  
12 Q. More records from Texas Oncology,  
13 Looked at the records from Dr. Eichler?  
14 A. Yes, sir.  
15 Q. Records from Dr. Eichler.  
16 Looked at the deposition of John Fisher?  
17 A. Yes, sir.  
18 Q. This stack of paper just appears to be  
19 miscellaneous medical records from the Collum &  
20 Carney Clinic and medical bills dealing with Ann  
21 Dykes. I guess you read that stack of papers.  
22 A. Yes, sir.  
23 Q. And the deposition of Ann Dykes?  
24 A. I did.  
25 Q. And I have the correspondence you talked about.

1 It appears the earliest letter I see here is  
2 dated September 30th. Is that 'about when you got  
3 contacted?

4 A. I think that's about right. Well, I think the  
5 contact to my office was made by telephone  
6 earlier than that, but I don't recall the date  
7 because it was through my secretary. .

8 Q. Okay. Is the September 30 letter the letter that  
9 transmitted to you the first materials to read  
10 and asked you to do whatever it was you were  
11 asked to do?

12 A. Correct.

13 MR. ONSTAD: Mark this .  
14 correspondence as Exhibit 1, please.

15  
16 (Deposition Exhibit No. 1 was marked  
17 for identification by the reporter.)  
18

19 Q. (By Mr. Onstad) Now, item 2 here appears to be a  
20 fax copy; appears to be **six** pages and it's  
21 entitled Breast. Would you tell us what that is?  
22 A. That's the staging classification of breast  
23 cancer of the American Joint Committee on Cancer,  
24 both the clinical and the pathological staging of  
25 breast cancer. I think this one was prepared in

1 1992.  
2 Q. Is breast cancer staging pretty standardized?  
3 A. Yes, sir. In this country, certainly.  
4 Q. Do you believe that the item that you're looking  
5 at where it says Breast that you just described  
6 is a nationally recognized standard for staging  
7 of breast cancer?  
8 A. I would say so.  
9 Q. Where all is it published?  
10 A. Well, it's a manual that's published by the  
11 American Joint Commission on Cancer, and sections  
12 of it, I think, appear in many of the standard  
13 texts on cancer. It's widely distributed and  
14 used by most registries including the registry at  
15 the hospital which I work, Methodist Hospital.  
16 Q. Did you rely upon it in the formulation of your  
17 opinions?  
18 A. Yes, I do.  
19 MR. ONSTAD: Mark that as Deposition  
20 Exhibit 2.  
21  
22 (Deposition Exhibit Nos. 2 and 3  
23 were marked for identification by the  
24 reporter.)  
25

- 1 Q. (By Mr. Onstad) Deposition Exhibit 3 appears to  
2 be an invoice for your services in this case up  
3 to this point.  
4 A. Yes, sir.  
5 Q. Did I state that correctly? Is that what it is?  
6 A. That's correct.  
7 Q. Your charges are \$400 per hour for reviewing  
8 materials?  
9 A. Yes, sir.  
10 Q. Is that what you charge in all instances for  
11 reviewing materials?  
12 A. Essentially.  
13 Q. Are there occasions when you charge more?  
14 A. Generally not for materials to review.  
15 Q. Are there occasions when you charge less?  
16 A. In the past.  
17 Q. Who **is** Mr. Giessel? I see on the 3rd you had a  
18 meeting with Mr. Giessel and **you** charged \$800.  
19 A. Yes, sir.  
20 Q. Who **is** Mr. Giessel?  
21 A. Mr. Giessel is an attorney with this firm.  
22 Q. Do you know him?  
23 A. I didn't know him before I met with him.  
24 Q. Okay. And what did you do at that meeting?  
25 A. I reviewed the case with him. As the initial

1 letter indicated, it was of great urgency to  
2 review this case and prepare -- if I had an  
3 opinion as to the facts of the case -- prepare  
4 for an early deposition. And there was some  
5 materials that I had not had available. I tried  
6 to -- I had this material to review over a  
7 weekend. They actually indicated an extreme  
8 urgency in preparation for this deposition.  
9 since they were materials that I needed, I  
10 attempted to reach both Mr. Barker and Mr.  
11 Twining that weekend and could not. And  
12 eventually I did reach Mr. Giessel and he said he  
13 would come and meet with me, review what it was,  
14 and activate as soon as possible my requests.  
15 Q. Do you know Dr. Alfred Watson?  
16 A. No, sir.  
17 Q. Do you know -- you don't know him to be a  
18 radiologist at Baylor with a special emphasis on  
19 mammography?  
20 A. No, sir.  
21 Q. Did you meet with any radiologists to go over the  
22 mammograms in this case?  
23 A. Yeah. Not to go over them. I just showed them  
24 to one radiologist after I had formulated all my  
25 opinions. I thought they were interesting and I

1 wanted to show them to him.  
2 Q. And who was that?  
3 A. That was Dr. Doug Rutherford.  
4 Q. First name?  
5 A. Doug. D-O-U-G.  
6 Q. Rutherford?  
7 A. Yes. Uh-huh.  
8 Q. Where does he work?  
9 A. Methodist Hospital.  
10 Q. Does he have a special emphasis on mammography?  
11 A. He does a lot of mammography. Uh-huh.  
12 Q. Do you read mammograms professionally?  
13 A. Well, as a physician, I read mammograms. When  
14 you say professionally, do I report mammograms as  
15 part of my activity, the answer to that is no,  
16 Q. Okay. Let me break it on down. I guess when I  
17 said professionally, it wasn't a very good word  
18 to communicate what I was thinking.  
19 Do you get paid by patients to read their  
20 mammograms?  
21 A. No, sir.  
22 Q. Does anybody pay you to read mammograms?  
23 A. No, sir.  
24 Q. Do you report mammograms?  
25 A. No, sir.

- 1 Q. Have you had any specialized training in how to  
2 report mammograms?
- 3 A. No, sir.
- 4 Q. Do you know what the American College of  
5 Radiology has said in the past five years about  
6 reporting mammograms?
- 7 A. I have read their recommendations.
- 8 Q. Well, I have them here. Which recommendations  
9 have you read?
- 10 A. I've read the recommendations. I can't tell you  
11 exactly which, but I -- for -- that are generally  
12 followed, I think, in their approach to the  
13 certification of institutions as mammography  
14 centers.
- 15 Q. Have you ever been involved in certification of  
16 institutions as mammography centers?
- 17 A. Not personally.
- 18 Q. Is your charge for giving deposition testimony  
19 \$400 an hour?
- 20 A. Yes, sir.
- 21 Q. So these charges --
- 22 A. Oh, I'm sorry. For deposition testimony?
- 23 Q. Yes, sir.
- 24 A. No, sir. That's 600 an hour.
- 25 Q. So right now you're making \$600 an hour?



- 1 A. That's correct.  
2 Q. So your charges from October the 2nd through  
3 October the 17th for reviewing materials in this  
4 case and for talking with lawyers has been  
5 \$9,200?  
6 A. That's correct.  
7 Q. Did the lawyers that hired you in this case tell  
8 you that they had had these mammograms reviewed  
9 by a radiologist before they retained you?  
10 A. Not that I recall. As far as I know, the only  
11 radiologist who looked at these mammograms was  
12 Dr. Fisher.  
13 Q. Did they tell you about Dr. War, the radiologist  
14 that replaced Dr. Fisher?  
15 A. No, sir.  
16 Q. Did they tell you he gave a deposition in this  
17 case?  
18 A. No, sir.  
19 Q. You obviously haven't read what Dr. War said,  
20 have you?  
21 A. No, sir.  
22 Q. Did you ask the lawyers that retained you in this  
23 case had they provided you with all the  
24 deposition testimony in this case?  
25 A. I assume -- No, I did not. I assumed this was

1 all.  
2 Q. Here's what I'm getting at pointblank without  
3 beating around the bush. If you're going to give  
4 a professional opinion about a matter, wouldn't  
5 you want to know and review all the testimony of  
6 everybody that has reviewed the facts and  
7 testified about them, or would you just want to  
8 have somebody else select it for **you** and then  
9 give your opinions based upon selected materials?  
10 A. That's a very generic question. It varies.  
11 There may be enough materials for me to make up  
12 my mind on my own.  
13 Q. Wouldn't it be interesting to you to know what a  
14 radiologist at the Collum & Carney Clinic said  
15 about the mammograms and the report?  
16 A. It might be interesting, but it might not be  
17 helpful.  
18 Q. Okay. Do you actually treat patients with breast  
19 cancer?  
20 A. Yes, sir.  
21 Q. What percent of your time is spent treating  
22 breast cancer patients?  
23 A. Well, of my clinical activities, I would say  
24 breast cancer probably is the largest single  
25 subset of cancer patients that I treat. Probably

1 breast and lung are the two largest. They'd be  
2 pretty close. I think probably a little in favor  
3 of breast.  
4 Q. I appreciate that answer, but my question was:  
5 What percent of professional time is spent with  
6 dealing with breast cancer patients?  
7 A. Professional time has to be defined for me as  
8 well, if you don't mind.  
9 Q. Whenever you're functioning **as a** physician.  
10 A. Well --  
11 Q. In charging fees, professional fees for  
12 functioning as a physician.  
13 A. I would guess it's about 20 percent. It's **very**  
14 hard for me to quantitate it off the top of my  
15 head.  
16 Q. Are you employed by Baylor College of Medicine?  
17 A. Yes, I am.  
18 Q. Are you paid a salary by Baylor College of  
19 Medicine?  
20 A. Yes, I am.  
21 Q. Are you paid \$400 an hour by Baylor College of  
22 Medicine?  
23 A. No, sir.  
24 Q. I don't mean to be really prying that much, but'  
25 what I'd like to do is find out how your time is

1        spent for Baylor, being paid by Baylor, what your 20  
2        hourly rate would be versus when you're reviewing  
3        matters for lawyers.  
4 A.       Well, I don't know that that's a terribly fair  
5        question, because what I get paid by Baylor  
6        College of Medicine relates only to my activities  
7        as a professor of the Department of Pharmacology.  
8        It does not relate to my practice.  
9 Q.       All right. So your salary source **would** be a  
10       salary from Baylor --  
11 A.       That's correct.  
12 Q.       -- out of the Department of Pharmacology?  
13 A.       That is correct.  
14 Q.       Then you have an additional source of income, and  
15       that is from seeing patients?  
16 A.       That's correct.  
17 Q.       And they're independent?  
18 A.       That's correct.  
19 Q.       You charge patients \$400 per hour?  
20 A.       No. Well, again, it depends on the setting. I  
21       say the amount of return in an hour can be  
22       anywhere from \$250 to \$400, depending on the  
23       setting.  
24 Q.       Which setting would precipitate 250 an hour  
25       versus 400?

- 1 A. Excuse me. I'm sorry, I **didn't** mean to  
2 interrupt. That would be a consultation fee for  
3 an hour's consultation.
- 4 Q. Under what circumstances would you get \$400 an  
5 hour for consulting with a patient?
- 6 A. That's -- would be derived from multiple patients  
7 as a consequence of hospital rounds or seeing  
8 patients in the office. And there it's a  
9 question **of**, you know, how many patients are seen  
10 over what period of time. That would be a, I  
11 think a fair representation of that.
- 12 Q. When you read Dr. Fisher's deposition, what did  
13 you learn his clinical impression was after he  
14 reviewed the mammograms that were taken on July  
15 **25, 1989?**
- 16 A. Well, I'm sorry, that's sort of a mixed question.  
17 I learned what his clinical impression was when I  
18 read his report. I mean, that portrayed to me  
19 what his clinical impression was.
- 20 Q. Well, --
- 21 A. The -- 1/11 leave it at that.
- 22 Q. Let me tell you why I asked this question.  
23 Again, I'm not going to come at you from an  
24 angle, I'm going to come straight at you. Until  
25 we took his deposition, I wasn't sure what his

1 interpretation was. We only knew what he wrote 22  
2 in the report. And I guess, unless you talked to  
3 him or read his deposition testimony, then all  
4 you'd be able to say is he must have been  
5 thinking what he wrote in the report. Is that  
6 what you did?  
7 A. Well, it was not my job to read Dr. Fisher's  
8 mind.  
9 Q. Is what?  
10 A. I wasn't trying to read Dr. Fisher's mind. I was  
11 trying to read his report. Now, in the  
12 deposition, there are several things that Dr. ...  
13 Fisher has said, and I'd be happy to refer to  
14 them. But I came away with the eventual  
15 impression that -- I had the same impression from  
16 his deposition that I had from reading his  
17 report; namely, he had made an indeterminate, in  
18 my mind, an indeterminate approach to the reading  
19 of the mammography. Namely, there **was** no special  
20 suspicion one way or the other as to what the  
21 significance was of several, as I recall, patchy  
22 densities in both breasts.  
23 Q. Well, don't you know he thought there might be a  
24 tumor present?  
25 A. Well, you have to look at the whole deposition in

1 context. I think you could select a portion out  
2 where he says something that sounds like that,  
3 but there's another portion where he says it's  
4 indeterminate. So that's why what he's saying on  
5 the deposition, I'm not sure which of his  
6 comments reflect what he was thinking. And I  
7 just, therefore, have to evaluate the report on  
8 its own merits, what I would think had I received  
9 such a report from a radiologist.  
10 Q. Don't you recall that on Page 35 -- in fact, let  
11 me get it out because I think we're going to  
12 eventually get to it.

13 Here's Dr. Fisher's deposition, In fact a.  
14 copy you read. Look at Page 35, beginning on  
15 Page 35, Line 15.

16 MR. ONSTAD: Have you got those  
17 mammograms handy, Kent?

18 MR. TWINING: Originals --  
19 A. Okay. I'm sorry, 35, Line 15.

20 Q. (By Mr. Onstad) Don't you recall that at that  
21 point in time we had just got through talking  
22 about the original mammogram, Plaintiff's Exhibit  
23 1 and Plaintiff's Exhibit 2, and he just got  
24 through marking red marks around --  
25 A. Uh-huh.

1 Q. I'm going to hold it up in front of the camera.  
2 Marking the red marks around the area that  
3 he was referring to when he said --  
4 MR. ONSTAD: Mr. Video Operator, are  
5 you awake? Zero in on this.  
6 THE VIDEOGRAPHER: It's on it.  
7 Q. (By Mr. Onstad) I'm holding **up** Plaintiff's  
8 Exhibit No. 2. And these little red marks that  
9 Dr. Fisher put around there and said that was the  
10 area he was talking to when he said a mass lesion  
11 cannot be ruled out, and he did the same thing on  
12 Exhibit No. 1?  
13 A. Yeah. Of course, he says he can't rule one  
14 either.  
15 Q. Do you remember that? Then I asked him, right  
16 there it says, and the word mass lesion means  
17 it's another word for saying tumor? And he said,  
18 yes, sir.  
19 I said, so what you're basically saying is  
20 there might be a tumor there?  
21 And he says, yes, sir.  
22 Is that pretty clear to you?  
23 A. Is what pretty clear to me?  
24 Q. That he's saying there might be a tumor there.  
25 A. Yeah. He's also saying there may not be a tumor



1           there.  
2 Q.       Where does he say there may not be a tumor there?  
3 A.       So you're basically saying that there might be a  
4       tumor there?  
5           Yes, sir. But I can't say -- but I can't  
6       say there is not. Yes, I can't say a hundred  
7       percent yes or a hundred percent no.  
8           So, that's how I interpret it.  
9           And you've got to be careful about the use  
10       of the word tumor. Tumor doesn't mean cancer.  
11       Tumor just means something.  
12 Q.       You were talking so fast that I couldn't catch up  
13       with you, so let's go back through there to make  
14       sure I understand what you're saying.  
15           What were you just reading from?  
16 A.       Well, I'm reading from the page you were on which  
17       said -- you said in the first portion of this  
18       page, means it's another word for saying tumor?  
19           Yes, sir.  
20           So what you're basically saying is there  
21       might be a tumor there?  
22           Yes, sir. But I can't say there's not.  
23       Yeah, I can't say a hundred percent yes or a  
24       hundred percent no. It is something that needs  
25       to be investigated. You agreed.

1                   What I'm saying further is that tumor and  
2 cancer are not synonymous words. **Just** density.  
3 Q.               Okay. So **you** recall what he said on Page 48 of  
4 his deposition?  
5 A.               48?  
6 Q.               Yes, sir.  
7 A.               I have to turn to it, if I may.  
8 Q.               Go ahead. It's right in front of you.  
9                   Right there on Page 48, Line 6 he says, I  
10 was suspicious. I said, I asked him, **you** weren't  
11 suspicious **of** cancer? He said no, I was  
12 suspicious. That's the reason I mentioned in the  
13 report that a mass lesion or a mass can't be  
14 excluded.  
15                  **You** take that to mean that his clinical  
16 impression was he was suspicious for cancer or do  
17 you take it to mean something else?  
18 A.               Well, he's as suspicious of cancer as he is that  
19 it's not cancer, as I recall later in the  
20 deposition when he was examined by Mr. --  
21 Q.               I'm going to let **you** do that, but let me just ask  
22 you right now, didn't he just say --  
23                   MR. TWINING: Let him finish. He  
24 needs to finish his answer. Let him finish  
25 his answer.

1 A. I'm sorry, there is a cross in here.  
2 Q. (By Mr. Onstad) I didn't **ask** you about that.  
3 Your answer would be nonresponsive and I will  
4 make an objection to that part. But if you want  
5 to go ahead and give it at the present time, **go**  
6 **ahead.**  
7 A. I just want to find it -- or I'll answer your  
8 question at this time.  
9 MR. TWINING: I think it's around  
10 Page **80.**  
11 THE WITNESS: 80?  
12 MR. TWINING: Yeah.  
13 THE WITNESS: Thank you.  
14 MR. TWINING: That's where I started  
15 picking it up.  
16 MR. ONSTAD: Object as nonresponsive.  
17 **THE WITNESS:** Mr. Polewski, that's  
18 who I was looking for.  
19 MR. TWINING: **Are** you looking for the  
20 beginning of his examination?  
21 **THE WITNESS:** No, I'm -- Yes, I have  
22 what I want. **Okay.** Thank you.  
23 A. Now, I'll try to answer your question. I'm not  
24 trying to be evasive. Go ahead, sir.  
25 Q. (By Mr. Onstad) What I'm really trying to find

1 out is if you get the same reading from this  
2 deposition I did. We're going to show it to the  
3 jury, it sounds like, in great detail, and I'm  
4 focusing you on those words where he said he was  
5 suspicious. And on Page 48 I asked him a  
6 negative question. I said, you weren't  
7 suspicious of cancer. He says, no, I was  
8 suspicious. That's the reason I mentioned in the  
9 report a mass can't be excluded.

10 And I'm just asking you: In your review,  
11 did you take that to mean his clinical impression  
12 at the time he looked at and studied this  
13 mammogram he was suspicious for cancer?

14 A. Well, sir, to answer that question, if one reads.  
15 Page 48 alone and without the rest of the  
16 deposition I would say yes, he said he was  
17 suspicious. But that doesn't mean -- he doesn't  
18 say how strong his suspicion was. He said he was  
19 equally suspicious that it wasn't, in my  
20 estimation. And the reason I say that relates to  
21 his comments later when questioned by the other  
22 attorney here, Mr. Polewski, cross examination,  
23 where he says he had no -- it was indeterminate,  
24 He says he finds these patchy densities and he  
25 said they should have raised a red flag. But

1 then when **you get** down to what the flag is, the  
2 flag is on Page **80**; no, I didn't see any  
3 definitive evidence of carcinoma or I would have  
4 caught it. I saw findings, you know, that are  
5 indeterminate and could go either way.  
6 But all **you** said about that is that a mass  
7 lesion cannot be definitely excluded?  
8 Right.  
9 Now, Doctor, it's **a** fact, isn't it, that  
10 mammograms can't catch all cancer?  
11 That's for sure.  
12 So, I mean, his reading and his comments, I  
13 think to me, represent the fact that he had what  
14 I would call an indeterminate mammogram. He is  
15 reading exactly what he said and he is not giving  
16 any weight to yes, it's suspicious for cancer and  
17 no, it's not suspicious for cancer. Where **a**  
18 cancer doesn't appear, he **says** a mass lesion  
19 cannot be excluded. And he finds lesions in both  
20 breasts which, again, doesn't suggest any  
21 predominant mass. That's my estimate of this  
22 deposition.  
23 MR. ONSTAD: Objection, not  
24 responsive.  
25 Q. (By Mr. Onstad) Don't you recall that Dr. Fisher

1 was of the opinion of whatever it is that he was  
2 seeing, it needed to be followed up?  
3 A. Well, I don't know if he has the -- I didn't come  
4 away with that. And it wouldn't be, as I  
5 indicated here, as I understood it here, he  
6 states that his role as a radiologist is to  
7 report what he sees. And that is the role in  
8 which I hold a radiologist. If he felt that it  
9 should have been followed up, he should have said  
10 so. I think the question of follow-up based on a  
11 report, in this instance, would depend upon the  
12 referring clinician. If the referring clinician,  
13 based on his clinical impressions of this patient  
14 and then his report of the mammogram, thought . .  
15 something else ought to be done, then I think he  
16 should have considered doing it. But obviously  
17 it seems to me that as a radiologist, he was not  
18 in the position to say it should be followed up  
19 or shouldn't. He said that she had basically  
20 some vague densities in both breasts, and there  
21 was no mass lesion that could be included or  
22 excluded, and that the calcifications were not  
23 particularly suggestive of cancer. And he left  
24 it at that. I think the decision about how  
25 important that reading is or not should lie with

1       .     the physician who ordered the tests.  
2                   MR. ONSTAD:   Objection, not  
3       .     responsive.  
4 Q.        (By Mr. Onstad) You know on Page **56** at Line 21,  
5       right after talking that the clinician had come  
6       down and asked you what you meant, and we went  
7       through a dialogue of explanation, I asked him  
8       the question: What if the clinician says well,  
9       what do you mean? Could it be cancer? And the  
10      answer was yes, he thought it could be cancer.  
11      Do you take that to be what he thought?  
12 A.       You haven't completed the statement, in all  
13      fairness. He **says**, what if the clinician **says**,  
14      well, what do you mean? Could it be cancer?'  
15      Answer, yes. And if he says, well, show me what  
16      you're talking about that might be cancer. Would  
17      you point to those? I would point to all of  
18      those that I had marked on the right breast as  
19      well as about three or four in the left breast  
20      that look similar. And if he says, well, what do  
21      you think I ought to do? I mean, if these could  
22      be cancer, what should I do, what would you tell  
23      me? I'd tell him he needs to go by his clinical  
24      exam, and if there's **any** suspicion, get follow-up  
25      mammograms. I mean, that's just the point.

1           Any one of these nondescript shadows, and  
2           that's what you're looking at, you're not looking  
3           at masses, you're not looking at cancers, you're  
4           looking at shadows of those breasts. And **all** of  
5           them could be equally suspicious or  
6           nonsuspicious, because none of them had what is  
7           considered to be diagnostic features **or** strongly  
8           suspicious lesions, changes pointing to a breast  
9           cancer. And obviously there ~~aren't~~ going to be  
10          seven independent cancers in the breast of this  
11          asymptomatic woman in my opinion.

12                   **MR. ONSTAD:** Objection, not  
13                   responsive.

14 Q.        (By Mr. Onstad) Do you recall that Dr. Fisher  
15            was of the opinion that his report in fact sent  
16            up a red flag of suspicion for cancer?  
17 A.        That's not what he said, sir, as I recall. I  
18            read the red flag business. I'm happy to read  
19            through it again, which was somewhere around Page  
20            80 when we talked about red flag. The red flag  
21            was that it could be something or it couldn't be .  
22            something. Precisely what he said.

23                   **MR. ONSTAD:** Objection, not  
24                   responsive.

25 Q.        (By Mr. Onstad) Why don't you look at Page 63,



1 Line 19. Question, it reads: Let me go back to  
2 the mammogram of July 25, 1989. Is it your  
3 position that your report sent up a red flag of  
4 suspicion for cancer?  
5 Answer: Yes, sir.  
6 What does that mean to you?  
7 A. Well, it means in -- what he said there, yes,  
8 there is some suspicion. On the other hand,  
9 there was extensive discussion about red **flags** on  
10 .Page 79. And if I may read those, some patchy  
11 densities are visualized within both **breasts**  
12 which are due in part -- at least in part to the  
13 above-mentioned findings. However, **a** mass lesion  
14 cannot be definitely excluded from either breasts  
15 on this study.  
16 Now, is that the sentence that you think  
17 should have **raised** the red flag?  
18 Yes, **sir**.  
19 Is there any other sentence **in** your report  
20 which you think should have raised **a** red flag?  
21 No. That was a flag raiser there.  
22 That's it though?  
23 Yes, sir.  
24 And you've already testified you didn't  
25 have any other discussion or communication with

1 Dr. Hall concerning your findings on these  
2 mammograms?

3 Not that I recall.

4 All right. Read for us the last sentence  
5 in your report.

6 No definite abnormal calcifications  
7 suggestive of carcinoma are visualized within  
8 either breast.

9 Okay. And you're saying that you don't see  
10 anything definite which is even suggestive of  
11 carcinoma?

12 No. All I'm saying is that there's **no**  
13 calcifications in there plus -- calcification or  
14 sign of carcinoma, but they're not the only sign.

15 Well, at any rate, you didn't see any that  
16 **were** suggestive of carcinoma?

17 No, I didn't see any definitive evidence of  
18 carcinoma or I would have caught it. I saw  
19 findings, you know, that are indeterminate and  
20 could go either way.

21 But all you said about this -- that is that  
22 a mass lesion cannot be definitely excluded?

23 Right.

24 Well, you know, if that's a red flag, the  
25 flag is that this is, to me, an indeterminate

1 mammogram with non-specific changes. And then  
2 the clinician has to determine, based on his  
3 clinical impressions, as to whether this requires  
4 further evaluation or not. That's as red  
5 flagging as I get from it.

6 MR. ONSTAD: Objection, not  
7 responsive.

8 Q. (By Mr. Onstad) What is your understanding of  
9 what Dr. Fisher's clinical impression **was** before  
10 he wrote his report?

11 A. I have no idea what his clinical impression was  
12 before he wrote his report. That **was** in **his**  
13 head.

14 Q. Maybe I didn't ask my question very clearly.  
15 What do you believe Dr. Fisher's clinical  
16 impression was after he was finished examining  
17 the mammograms and just before he dictated his  
18 report?

19 A. Sorry. I don't have that kind of insight as to  
20 what was going on in Dr. Fisher's head. I only  
21 have his work product which is his report to  
22 evaluate. That's all I have from anybody who  
23 writes a report, not what's going on in his head.  
24 Q. Well, do you agree with me that the report should  
25 accurately reflect what was going on in his head?

1 A. I think the report should accurately reflect what  
2 his readings or impressions were of what he was  
3 doing. I don't know what you mean by going on in  
4 his head. He may be thinking about dinner that  
5 evening. I don't know what's going on in his  
6 head means. All I know is that the report is  
7 what he felt he was seeing. That was his  
8 professional impression of what he was looking  
9 at.

10 MR. ONSTAD: Objection, not  
11 responsive.

12 Q. (By Mr. Onstad) In your experience, is there a  
13 commonality between pathologists and radiologists  
14 to the extent that both of them examine evidence  
15 looking for cancer and that they are required to  
16 clearly report to others if they see any evidence  
17 of cancer?

18 A. Well, that's a very complex question and that's  
19 not what they do. They report what they see.  
20 Basically they report what they see. It may have  
21 to do with cancer, it may not have to do with  
22 cancer. But each observer in each specialty is  
23 supposed to accurately report what he sees or  
24 what she sees, whoever is doing it.

25 Q. In reading mammograms, is it your understanding

1           that one of the things that the radiologist is  
2           looking for is evidence of cancer?  
3 A.       No, sir. I don't think **so**. I think what you're  
4           looking for is an interpretation of shadows or  
5           radiographic findings that may suggest any number  
6           of things depending on their configuration and  
7           what experience in mammography has shown to  
8           suggest something. But the diagnosis of cancer  
9           has to be based on pathological findings, not on  
10          mammographic impressions.  
11                       MR. **ONSTAD**: Objection, not  
12                       responsive.  
13 Q.       (By Mr. Onstad) If a radiologist reviews a  
14          mammogram, and after the review of the mammogram.  
15          is suspicious of cancer in the patient, do you  
16          know what the standard of care is for a  
17          radiologist in reporting that suspicion?  
18 A.       Well, I think the answer to that in general terms  
19          is yes. Yes, I'm generally familiar with the  
20          standard of care. I couldn't state it the way a  
21          radiologist might state it perhaps.  
22 Q.       Where did you learn the standard of care for  
23          radiologists in reporting suspicion of cancer?  
24 A.       First of all, I have a broad knowledge of that  
25          through my role as director of the mammography

1 screening program for female employees at the  
2 Methodist Hospital which embraces some 6,000  
3 women employees. And of course in this arena,  
4 have conversed repetitively with our radiologists  
5 and with what is expected from them in their  
6 reporting in these asymptomatic individuals that  
7 are being screened. So I have some concept of  
8 standard of care.

9 Q. Is mammography in that program used as a  
10 screening tool for the early detection of cancer  
11 in women?

12 A. That's correct. In asymptomatic women; that is,  
13 women who have no symptoms or findings.

14 Q. When you say a screening tool, that means that  
15 it's some sort of a machine that images tissue in  
16 the breast?

17 A. In general that's correct. Uh-huh.

18 Q. And then the radiologist looks at the film that's  
19 printed like these mammograms here that we've got  
20 marked as Plaintiff's Exhibit 1 and 2, and then  
21 he studies them and forms opinions about what  
22 they show. Is that true?

23 A. Well, first he reports his -- he should report  
24 his findings objectively. That's his first job.  
25 And then secondarily to that he then indicates

1           what his opinions might be. And of course his  
2           opinions will depend on what he has diagnosed as  
3           the changes of the breast.  
4 Q.       If a radiologist after examining a mammogram and  
5           after he reports his findings objectively is of  
6           the opinion that there is a suspicion of cancer,  
7           do you know what the standard of care calls for  
8           in the type of words that are chosen to report  
9           the opinion of suspicion of cancer?  
10 A.       I think I do.  
11 Q.       What is your understanding?  
12 A.       Well, he should indicate his degree of suspicion  
13           of cancer and on what he bases this opinion. For  
14           example, because I see a cluster of  
15           microcalcifications, an abnormal cluster, so  
16           many, so many microcalcifications in a certain  
17           way in association of perhaps some increased  
18           density in the area, I would be suspicious of the  
19           possibility of a neoplasm in this area. He  
20           might also say that there are other  
21           calcifications in the breast that appear to be  
22           nonmalignant. So he has -- he would indicate his  
23           degree of suspicion of cancer based on his  
24           findings. And he should not mince the word  
25           cancer.

1 Q. should not what?

2 A. Should not hesitate, shouldn't hedge on the word  
3 cancer if he thinks there may be cancer there, if  
4 he feels strongly that there's cancer. You know,  
5 if he strongly is of the impression that the  
6 findings are sufficiently diagnostic in his mind  
7 to suggest a cancer, he should so state.

8 Q. so what you're saying is if he has a suspicion of  
9 cancer, he should use the word cancer?

10 A. It depends on his degree of suspicion. If he  
11 has -- it could be any number of things and he's  
12 not thinking that it's a cancer or it isn't a  
13 cancer, there's nothing diagnostic or -- the  
14 findings have to fit into certain categories that  
15 create suspicion. For example, if you look at  
16 the report of December the 9th, as I recall,  
17 1990 -- was that the date -- or somewhere in  
18 December 1990, by Dr. Fisher where he noted the  
19 stellate type of changes or spiculated what he  
20 thinks might be mass lesion, that type of finding  
21 is sufficiently suspicious for him to use the  
22 word, I am suspicious for cancer, because of that  
23 particular configuration. I don't mean there's a  
24 cancer there, but it's stronger, much stronger as  
25 a finding. And the radiologists know how to



1 interpret each of these findings than saying I  
2 see some vague densities in both breasts. so  
3 that's how -- in that instance, he uses the word  
4 carcinoma and/or cancer. And suspicious for --  
5 and even then he has a disclaimer on the bottom  
6 which says, you know, it may or may not be and so  
7 forth. You have standard disclaiming language.  
8 So yes, I understand when they use such  
9 language that that means -- that means look into  
10 it. It doesn't mean there's a cancer. That  
11 means they're suggesting you look at this,  
12 MR. ONSTAD: Objection, not  
13 responsive.  
14 Q. (By Mr. Onstad) If a radiologist writes in his  
15 report any degree of suspicion of cancer, do you  
16 believe it should precipitate further  
17 investigation by the attending physician?  
18 A. Not necessarily. That's a function of the  
19 clinic -- the clinician's expression or.  
20 impression of the clinical situation of the  
21 breast, the age of the patient, prior breast  
22 history, and then perhaps a discussion with the  
23 radiologist to see, you know, what is this  
24 degree, is this a one percent chance that this  
25 might be an abnormal finding or is this a 70

1 . percent chance. Even with definite mass lesions, 42  
2 definite mass lesions without  
3 microcalcifications, only about 70 percent of  
4 those turn out to be positive. That's with a  
5 definite mass lesion.

6 So just because he says, you know -- there  
7 almost always is a vague suspicion that anything  
8 could be cancer. That's what the nature of  
9 mammography is. Because you're looking at  
10 shadows. It's a question of the degree of  
11 suspicion. All of this has to do with the degree  
12 of suspicion in the mind of the mammographer,  
13 period.

14 MR. ONSTAD: Objection, not  
15 responsive.

16 THE WITNESS: May we break so I may  
17 have a glass of water, sir?

18 MR. ONSTAD: Sure.

19 THE WITNESS: Thank you.  
20

21 (Brief recess)  
22

23 Q. (By Mr. Onstad) To kind of recap, move on, I  
24 just want to make sure my notes are accurate and  
25 I have a clear understanding where **you** are.

1           At that point in time after Dr. Fisher  
2 reviewed these mammograms that were taken on July  
3 25, 1989, and just before he dictated his report  
4 that ultimately became his report, you don't have  
5 any idea what his mental impressions were on the  
6 issue of whether there was any evidence of  
7 cancer, do you?  
8 A. That's correct. I do not.  
9 Q. Now, are you here on behalf of Dr. Fisher?  
10 A. No.  
11 Q. Who are you here on behalf of?  
12 A. The truth.  
13 Q. Well, who's retained you? Was it Dr. Fisher's  
14 interest that retained you? I mean, I didn't  
15 retain you, did I?  
16 A. No. Mr. Barker retained me.  
17 Q. Do you know who he represents?  
18 A. He represents Dr. Fisher.  
19 Q. If you wanted to know the truth, wouldn't you  
20 want to talk to Dr. Fisher?  
21 A. Well, Dr. Fisher is only a minor part of the  
22 case. I don't know that I have to talk to him.  
23 I have his record, you see.  
24 Q. We're talking --  
25 A. What he has to say or what a lot of these people

1 say has nothing to do with the truth and with  
2 what the facts are. And I think the facts and  
3 the medical facts determine what the realities of  
4 this case are all about. **So** Dr. Fisher may later  
5 say one thing, he may later say another thing.  
6 That doesn't mean, you know, what his thinking  
7 was when he wrote that report- Now, frankly, I  
8 don't think this report **is** that important **in** this  
9 case.

10 MR. ONSTAD: Objection, not  
11 responsive.  
12 would you read the question back? .

13  
14 (Thereupon, the following question .  
15 was read by the reporter:

16 "Question: If **you** wanted to know the  
17 truth, wouldn't you want to talk to Dr.  
18 Fisher?"

19  
20 Q. (By Mr. Onstad) If you wanted to know the truth  
21 of the matter of what Dr. Fisher was thinking  
22 after he studied the mammogram and before he  
23 wrote his report, do you agree the only source of  
24 that would be talking to **Dr.** Fisher?  
25 A. I don't know how to answer that question. To me,

1 the truth of what Dr. Fisher was thinking is what  
2 he wrote in his report. -As a physician, that's  
3 all I can interpret as the truth of any report  
4 that I see, unless, of course, the report was  
5 erroneous or the study was erroneous. But the  
6 report given as a subjective report, if it's  
7 typed accurately, it reflects to me what the  
8 thinking was of that person, in this case Dr.  
9 Fisher, not what he thought before or what he  
10 thought later.

11 Q. Well, would you agree with me on this point: The  
12 report should reflect what the radiologist was  
13 thinking after the examination of the film?

14 A. Oh, I agree with that, sir.

15 Q. All right. Have you ever seen circumstances  
16 where the radiologist was thinking suspicion of  
17 cancer but failed to **say** it in the report?

18 A. Well, I don't know. I wouldn't know that.

19 Q. Never seen that before?

20 A. I don't think so. If I have, I wouldn't have  
21 recognized it. All I know is what the report  
22 says. Everybody has 20/20 hindsight.

23 Q. Well, on that point, do you agree that Ann Dykes  
24 in fact had breast cancer?

25 MR. TWINING: When?

- 1 A. . Ever?
- 2 Q. (By Mr. Onstad) At any time. Ever.
- 3 A. Of course she had breast cancer.
- 4 Q. When was the diagnosis made?
- 5 A. It was made at the **surgery** following the
- 6 mammogram in December of 1990. I don't recall
- 7 the exact date that it lists Dr. Hillis performed
- 8 that surgery.
- 9 Q. Are mammograms used from time to time to guide
- 10 the surgeon as to where the tumor's located?
- 11 A. Yes, sir, they certainly are.
- 12 Q. And have you ever looked at **a** mammogram for the
- 13 purpose of determining where within the breast
- 14 the tumor is located?
- 15 A. Yes.
- 16 Q. And these mammograms that are done on December
- 17 1990, can you see the tumor on Plaintiff's
- 18 Exhibit No. 6 that I just handed you?
- 19 A. No, I can't see a tumor there.
- 20 Q. Can you see where the tumor's located?
- 21 A. No. I can see some shadows there,
- 22 Q. Can you see **a** shadow that in all probability
- 23 represents the tumor?
- 24 A. Some part of it might.
- 25 Q. Can you put your finger on it?

- 1 A. . Not which part will tell me where the cancer  
2 actually is in the shadow, because the  
3 abnormality of the shadow is considerably in  
4 excess of the size of the tumor.
- 5 Q. Doctor, I took the liberty to prepare for this  
6 deposition by going and getting this book called  
7 Atlas Of Mammography written by Dr. John Martin.  
8 I bought it over at Major's Book Store out in the  
9 Texas Medical Center. Have you ever seen that  
10 book before?
- 11 A. Probably I've seen it on the radiology shelf, may  
12 have glanced through, but I've never read it.
- 13 Q. Do you own it?
- 14 A. No, sir.
- 15 Q. You see on Page 101 where it shows a good example  
16 of a stellate carcinoma? Can you see it?
- 17 A. He has it labeled as such. Uh-huh.
- 18 Q. Do you recognize it as such?
- 19 A. I recognize it as a stellate shadow within a  
20 breast on a mammogram which may well contain a  
21 carcinoma in it.
- 22 Q. Okay. Doesn't it look almost identical to the  
23 shadow on Plaintiff's Exhibit 6?
- 24 A. Not at all.
- 25 Q. You don't --

- 1 A. It has some -- it has some vague resemblance to  
2 it. You see, we're talking about terms here that  
3 are very important. When you say shadow, now I'm  
4 agreeing with you. This is what looks like a  
5 shadow that suggests the possibility that there  
6 may be some abnormality such as a cancer in it.  
7 But since this shadow's been measured by several  
8 radiologists as two and a half cm, 2 cm, 3 cm by  
9 2 cm, and we know that the cancer that was  
10 eventually detected here was 1.2 cm, no one can  
11 tell you exactly where in relationship to this  
12 configuration the cancer is actually located. So  
13 what part of that shadow eventually constituted  
14 the cancer is uncertain. So I don't see a cancer  
15 there. I see an abnormal configuration in a  
16 mammogram highly suspicious that there may be a  
17 cancer in that location.
- 18 MR. ONSTAD: Objection, not  
19 responsive.
- 20 Q. (By Mr. Onstad) Did you form an opinion as to  
21 when Ann Dykes' cancer first began growing?
- 22 A. No, sir.
- 23 Q. Did you form an opinion as to how often it  
24 divided?
- 25 A. I think that's indeterminate, sir. The answer is



- 1 no, sir.
- 2 Q. You have no opinion?
- 3 A. No, sir. I have some opinion in a very general
- 4 vein.
- 5 Q. What are your general vein opinions?
- 6 A. Well, see the -- I suspect this had been an
- 7 extremely slowly evolving cancer, even by Dr.
- 8 Schapira's estimates of its size in July of 1990,
- 9 and what we know to be its size in December of --
- 10 I'm sorry, July of 1989 and December of 1990.
- 11 The reason it's difficult to come up with an
- 12 opinion is that in order to calculate the growth-
- 13 rate of a tumor, you have to know its exact size
- 14 at two separate points in time. And we only have
- 15 its exact size in December of 1990. The rest is
- 16 conjecture.
- 17 MR. ONSTAD: Objection, not
- 18 responsive.
- 19 Q. (By Mr. Onstad) What kind of cancer was it
- 20 histologically?
- 21 A. Moderately well differentiated infiltrating
- 22 ductal carcinoma.
- 23 Q. Of what type of tissue?
- 24 A. Of the breast.
- 25 Q. What does moderately well differentiated mean?

- 1 A. That terminology is used as a descriptor by  
2 pathologists to tell us the degree to which the  
3 cancer structurally histologically resembles or  
4 does not resemble normal breast tissue so that at  
5 the one extreme you have a well differentiated  
6 carcinoma, and at the very other end you have an  
7 undifferentiated carcinoma, and in between you  
8 have various degrees of differentiations such as  
9 moderately well differentiated, moderately  
10 differentiated, poorly differentiated,  
11 undifferentiated.
- 12 Q. Does the degree of differentiation usually  
13 indicate how rapidly the cells are dividing?.
- 14 A. In part. It's just a very indirect index of . .  
15 that. It's an index. The issue is not, by **the**  
16 way, how rapidly the cells are dividing, it's how  
17 rapidly the tumor is growing. And those are only  
18 indirectly related. It depends on the growth  
19 factor.
- 20 MR. ONSTAD: Objection, not  
21 responsive.
- 22 A. That's very responsive, sir, if you don't mind my  
23 saying so. That's right on the ball.
- 24 MR. ONSTAD: Objection, not  
25 responsive.

1 Q. (By Mr. Onstad) What does the literature  
2 indicate the range of cell division time is for a  
3 moderately well differentiated ductal cell  
4 carcinoma of the breast?  
5 A. I'm not sure that the literature subfractionates  
6 out moderately well differentiated carcinoma of  
7 the breast. It **gives** a range for it.  
8 Q. What is the range that the literature says?  
9 A. For what?  
10 Q. Cell division time.  
11 A. For what?  
12 Q. For a moderately well differentiated ductal cell  
13 carcinoma of the breast.  
14 A. I'm unaware -- I'm responding to that, sir. I  
15 told you once. I am unaware of any literature  
16 that separates out moderately well differentiated  
17 carcinoma of the breast with respect to a range  
18 of division times. Now, in general, most of the  
19 literature merely refers to adenocarcinoma of the  
20 breast and gives a range for all adenocarcinomas  
21 of the breast that have been studied. Now, if  
22 you want me to tell you what Dr. Schapira's  
23 measurements would indicate that the doubling  
24 time of this cancer is, I'll be happy to do that  
25 for you based on his determinations of tumor

1 size. As they say, you've got to have two sizes,  
2 beginning and end point in between to determine  
3 the rate of growth of the tumor. It's hard to do  
4 if you only have one point. Like determining the  
5 rate of anything. If you know something's going  
6 80 miles an hour, you don't know how long, you  
7 know, what distance it covered, unless you knew  
8 that that rate was constant or what the beginning  
9 point was, how much distance was covered. But if  
10 you'd like me to do that  
11 measurements, I would be happy to do that.

12 MR. ONSTAD: Objection, not  
13 responsive.

14 Q. (By Mr. Onstad) Did you look at Dr. Schapira's  
15 reports?

16 A. I certainly did.

17 Q. Did you note what Dr. Hall said about them?

18 A. I'm sorry?

19 Q. Do you know who Dr. Eric Hall is?

20 A. Eric Hall?

21 Q. Right.

22 A. Was the gynecologist who saw Mrs. Dykes and sent  
23 her for initial screening mammogram in July of  
24 1989.

25 Q. Do you think he'd have some familiarity with the

1 patient?  
2 A. Sir?  
3 Q. Would you expect him to have some familiarity  
4 with the patient?  
5 A. I'm sorry, you lost me. You started with Dr.  
6 Schapira then you switched --  
7 Q. Dr. Hall.  
8 A. -- doctors.  
9 Q. Do you think Dr. -- would you expect Dr. Hall to  
10 have familiarity with the patient?  
11 A. He examined her. And the answer would be yes, I  
12 would expect him to.  
13 Q. Would you expect him to be familiar with the care  
14 she had received both before the diagnosis of  
15 cancer and after the cancer was diagnosed and  
16 treatment commenced?  
17 A. Well, I don't how to answer that, sir. I don't  
18 know.  
19 Q. I'm just asking --  
20 A. The degree of familiarity would depend on the  
21 degree which he was involved with her care  
22 subsequently. So I don't understand your  
23 question.  
24 Q. Have you ever given depositions before?  
25 A. Yes, sir.

- 1 Q. On approximately how many occasions?  
2 A. I don't recall. It's been a number of times.  
3 Q. What's your best estimate?  
4 A. I don't keep track of them. I don't know. You  
5 know, I've been in medicine a long time.  
6 Q. More than ten?  
7 A. Probably. Oh, yes, I would say so. .  
8 Q. More than 20?  
9 A. You're going to start with the numbers, and I  
10 can't tell you exactly how many over what period  
11 of time. You know, I've been doing -- practicing  
12 medicine 41 years.  
13 Q. Well, how many did you give in 1993?  
14 A. I have no -- in '93?  
15 Q. Yes, sir.  
16 A. I can't even remember that exactly. I would say  
17 probably three or four at most.  
18 Q. Did you make any court appearances?  
19 A. In '93? You mean as an expert in behalf of .  
20 somebody?  
21 Q. Yes, sir.  
22 A. I'm trying to remember. I think I may -- I don't  
23 remember if I appeared at the end of '92 or  
24 beginning of '93 one time.  
25 Q. And the three to four depositions you gave in

1           1993, who were you working for?  
2 A.       I don't know what you mean by that, who I was  
3       working for.  
4 Q.       Who paid you?  
5 A.       Attorneys.  
6 Q.       What attorneys?  
7 A.       Oh, I don't remember the cases exactly. I know  
8       the one case we're referring to there **was** a case  
9       of David Livingston.  
10 Q.      David Livingston?  
11 A.      Was the attorney.  
12 Q.      He's the one that hired you?  
13 A.      Yes.  
14 Q.      What kind of case was it?  
15 A.      The case involved a physician who actually had  
16       been treating a patient in an adjuvant setting  
17       for breast cancer and the patient had a stroke,  
18       And the question was, was there any way that this  
19       physician could have anticipated the stroke based  
20       on what happened in his care of this patient and  
21       was there anything that could have been done to  
22       prevent it or ameliorate it, so forth.  
23 Q.      What about the other two to three cases? Who  
24       retained you?  
25 A.      I don't remember. I really don't remember.

- 1 Q. Were they lawyers that were representing the  
2 doctors or were they lawyers who were  
3 representing the party bringing **the** lawsuit?  
4 A. I would say of -- the deposition cases were all  
5 representing physicians,  
6 Q. Sir?  
7 A. Representing physicians.  
8 I had several cases that I was evaluating  
9 for the plaintiff's attorneys, but they have not  
10 come to deposition.  
11 Q. Let me just stay with '93. All of your  
12 depositions given were on 'behalf of lawyers., .  
13 representing doctors. Is that correct?  
14 A. Well, I wouldn't put it that way. I would say I  
15 was hired by or paid by attorneys. The attorneys  
16 were representing physicians, and I was acting as  
17 an expert on the evidence that was presented to  
18 me.  
19 Q. Okay. I'm just trying to be clear. You were  
20 hired by the lawyers representing doctors in all  
21 the cases you gave testimony in in 1993. Is that  
22 correct?  
23 A. Correct.  
24 Q. How about in 1992?  
25 A. Virtually all the work I do is in behalf of



1 defendants. I have done some plaintiff's work,  
2 but relatively little.  
3 Q. When was the last time you did anything on behalf  
4 of a plaintiff?  
5 A. I think in 1989, thereabouts.  
6 Q. Who was the lawyer?  
7 A. His name was Mr. Wynne.  
8 Q. Who?  
9 A. Mr. Wynne, W-Y-N-N-E. He was from Arkansas.  
10 Q. Did you give a deposition?  
11 A. Yes, sir.  
12 Q. What kind of case was it?  
13 A. Failure to diagnose breast cancer.  
14 Q. Is he in Little Rock?  
15 A. No, sir. El Dorado.  
16 Q. When did you give that deposition?  
17 A. Sometime back then.  
18 Q. 1989?  
19 A. I think so.  
20 Q. Do you know Mr. Wynne's first name?  
21 A. I don't recall.  
22 Q. Have there been any other occasions when you gave  
23 a deposition on behalf of a plaintiff?  
24 A. Well, the plaintiff happened to be an insurance  
25 company. The answer is yes. The plaintiff

1 happened to be an insurance company, but the  
2 deposition related to the physician who was using  
3 an unapproved method of therapy. And the  
4 plaintiff brought him in as their expert so they  
5 could reimburse him, I guess get the insurance  
6 company to reimburse him for what he had done.  
7 Q. All right. I'm looking for other cases where you  
8 were giving testimony on behalf of an individual  
9 **who** had sued a health care provider contending  
10 the health care provider was negligent and that  
11 that negligence caused some harm.

12 Have you ever testified on behalf of a  
13 plaintiff except in the instance for Mr. Wynne  
14 out of El Dorado, Arkansas?

15 A. No, sir.

16 Q. And over how many years have you been making  
17 yourself available as an expert for physicians?

18 A. Well, you know, I have testified in other types  
19 of situations here. For example, for the Bureau  
20 of Narcotics, against narcotics dealers and  
21 things of that sort. I've -- I don't think I  
22 started doing any work of this sort until about  
23 1985 or so.

24 Q. Before that you were testifying on behalf of  
25 narcotics dealers?

1 A. No, sir. The reverse. On behalf of the federal  
2 government.  
3 Q. Okay. I **just** wanted to make sure.  
4 A. Let's make that clear.  
5 Q. You wouldn't want me to leave an unclear point in  
6 that --  
7 A, Not in that direction. It would be 180 degrees  
8 off course. **As** a pharmacologist, I don't work  
9 for narcotics dealers. I am a law-abiding  
10 citizen.  
11 But, you know, I don't like the concept of  
12 "your testifying for". I'm testifying to my  
13 appraisal of the data.  
14 **MR. ONSTAD:** Objection, not  
15 responsive.  
16 Q. (By Mr. Onstad) If that's the case, why don't  
17 **you** just do it for free?  
18 A. Well, because in doing this, I'm giving up my  
19 practice. I'm having to pay physicians to cover  
20 me, and I have to pay the bills back home, pay  
21 for secretaries, physicians, et cetera.  
22 Q. Who's covering you now?  
23 A. Dr. Frank Smith.  
24 Q. So you're saying you're paying Frank Smith to  
25 cover you right now?

- 1 A. Uh-huh.
- 2 Q. What sort arrangements do **you** have with him to
- 3 cover you?
- 4 A. Well, that depends on who he's seeing, patients
- 5 in the office, whatever. I always -- we have a
- 6 monthly arrangement, which I don't think is
- 7 essential to the case at hand here.
- 8 Q. How much an hour are you paying him while you're
- 9 here?
- 10 A. I don't think that I want to get into that
- 11 particularly. I think that's between me and Dr.
- 12 Smith.
- 13 Q. Okay.
- 14 Do you know any of the lawyers in the
- 15 Giessel Stone firm?
- 16 A. No, sir. I mean I've met them with the case. I
- 17 never met Mr. Giessel before. I never met Mr.
- 18 Twining before. And I never met Mr. Barker
- 19 before.
- 20 Q. Did you form an opinion as to what the stage of
- 21 Ann Dykes' cancer was?
- 22 A. At which time, sir?
- 23 Q. At the time she had her surgery on 12 -- well,
- 24 December of 1990.
- 25 A. Yes, sir.

1 Q. What did you determine?  
2 A. You'll have to allow me to give the whole  
3 definition though.  
4 Q. I just want to know -- Isn't there a staging?  
5 A. I say -- Yes.  
6 Q. I just want --  
7 A. Based on this classification,  
8 Q. Classification, Exhibit 2?  
9 A. Okay.  
10 Q. Stage and grouping?  
11 A. First of all, I'll tell you she had a T1. I can  
12 give you the TNM classification.  
13 Q. She had a T1?  
14 A. T1 lesion. Tumor's stage is one. The node stage  
15 was -- pathological stage -- let's go pN1A. And  
16 the metastatic level is zero. Now, a pN1A  
17 lesion -- I mean an N1 -- a pN -- small p,  
18 capital N, 1A lesion, if you just stage it, the  
19 stage would be Stage II breast cancer because  
20 there was one -- because the patient had involved  
21 lymph nodes. She had a T1 lesion. Okay?  
22 However, if you look at the bottom of the  
23 page, you'll see that anybody who has lymph nodes  
24 that have less than 2 millimeters of tumor  
25 involvement have the same prognosis as if their

1 lymph nodes were not involved. And this was the 62  
2 case with this patient So that effectively she  
3 had a T1 NO MO which would make her a Stage I.  
4 The disease would be Stage I prognostically. And  
5 that **appears** at the bottom of the table  
6 qualifying lymph node involvement.  
7 MR. ONSTAD: Objection, **not**  
8 responsive.  
9 Q. (By Mr. Onstad) What stage **did** her own  
10 oncologist stage her at?  
11 A. As I recall, he called it a Stage 11.  
12 Q. Stage II what?  
13 A. Well, I don't recall that. I don't recall. **May**  
14 I see it?  
15 Q. I just want to know what **you** can recall.  
16 A. Yeah, I -- I don't think --  
17 MR. TWINING: What do **you** want to  
18 **see**, first of all, before **you** go on?  
19 THE WITNESS: I want to **see** what he  
20 called it. I don't remember what he called  
21 it.  
22 Q. (By Mr. Onstad) That's good enough. He called  
23 it what he called it. If **you** can remember,  
24 that's good.  
25 A. Well, I don't remember.

1 Q. If you can't, you can't remember.  
2 A. Which oncologist are you talking about? Eichler?  
3 Q. Right.  
4 A. I don't recall what Dr. **Eichler** called it.  
5 Q. Okay.  
6 MR. TWINING: Let me say if **you** want  
7 to look at any of this material in response  
8 to **his** question, you're free to do that at  
9 any time. Simply let me know.  
10 A. I don't recall out of my head what he called it.  
11 No matter how you call it, it would have to  
12 be called **a** Stage **II** based on TNM. But again, by  
13 TNM, it would be functionally a Stage I.  
14 MR. ONSTAD: Objection. Not  
15 responsive.  
16 A. I think it's very responsive.  
17 MR. ONSTAD: Objection, not  
18 responsive.  
19 Q. (By Mr. Onstad) What did Dr. Schapira stage it?  
20 A. I would have to look at **his** material. **I** don't  
21 recall.  
22 Q. You don't recall?  
23 A. I don't recall, sir, but I'll be happy to look at  
24 it and see what he called it. But depositions, I  
25 can --

1 Q. What did Dr. Hillis stage it at?  
2 MR. TWINING: Hold on a second.  
3 If you want him --  
4 MR. ONSTAD: We can go dig it up. I  
5 just want to know what he can recall. I  
6 have the right --  
7 A. My recollection vaguely is that -- it's vague --  
8 is that all of these people called it a Stage II  
9 breast cancer.  
10 Q. (By Mr. Onstad) Because I have the right to test  
11 your recollection.  
12 A. Pardon me?  
13 Q. I have the right to test your recollection on  
14 examination.  
15 A. Well, I'll have to look at it then.  
16 Q. I understand.  
17 MR. TWINING: You want to look at his  
18 depo?  
19 THE WITNESS: I'll be happy to  
20 look --  
21 Q. (By Mr. Onstad) We'd just be wasting time. I  
22 know what -- the depo says whatever it says. My  
23 question was: Do you recall it? And the answer  
24 is you don't recall it.  
25 A. Pass. 1/11pass.



1 Q. I'm not as sophisticated **as** you are in looking at 65  
2 cancer so I've got this book called Everyone's  
3 Guide To Cancer Therapy. Are you familiar with  
4 that?  
5 A. No, I haven't read that book, I'm afraid.  
6 Q. Sir?  
7 A. I haven't read that book.  
8 Q. Do you recommend it to your patients?  
9 A. No, sir. I'm not familiar with it.  
10 Q. It has **a** section that says treatment by stage,  
11 and they talk about a stage called Stage 0 or in  
12 situ. Are you familiar with carcinoma of the  
13 breast in situ?  
14 A. Yes, sir.  
15 Q. And then they have a group they call Stage I, and  
16 it substages TNM T1 or NO or MO. Are you  
17 familiar with that --  
18 A. T1 NO MO. I'm quite familiar with it. That's  
19 Stage I.  
20 Q. All right. They have Stage II; TNM **T0 N1** MO or  
21 T1 N1 MO and T2. Are you familiar with that  
22 terminology?  
23 A. Yes, I am.  
24 Q. And is the treatment --  
25 A. You have to be careful about whether you're

1 talking about a clinical or pathological staging,  
2 because the ultimate staging is pathological  
3 staging. So I'd like to know what you're talking  
4 about.  
5 Q. Do you do surgery for breast cancer?  
6 A. **No, sir.**  
7 Q. Do you do any kind of surgery?  
8 A. None at all.  
9 Q. Have you ever done any kind of surgery?  
10 A. Sure. When I was a resident and intern.  
11 Q. When was that?  
12 A. In 1952.  
13 Q. Did you ever do any breast surgery?  
14 A. **No.** I assisted a surgeon, but I didn't do  
15 surgery. I'm not a surgeon. We've defined that.  
16 Q. Do you get involved in needle aspiration biopsy?  
17 A. No, sir.  
18 Q. Have you ever done it?  
19 A. No, sir.  
20 Q. Do you know anything about it?  
21 A. **Of course.**  
22 Q. Is it a standard way that a suspicion of cancer  
23 in a mammogram might be followed up?  
24 A. It's one way, yes.  
25 Q. Is it reliable?

- 1 A. Well, as with all techniques, it may or may not  
2 be reliable. It depends on when you get into the  
3 lesion, whether the lesion's cystic, whether the  
4 lesion has cells floating in it. It is a useful  
5 technique.
- 6 Q. Do you recommend it?
- 7 A. I don't know what you mean by that. To whom and  
8 in what situation?
- 9 Q. Do **you** ever recommend it to your patients who  
10 have a reported suspicious mammogram?
- 11 A. Those recommendations are made by the surgeon,  
12 sir.
- 13 Q. **So** you don't get involved in even recommending  
14 whether the needle aspiration biopsy or  
15 incisional type biopsy?
- 16 A. That is correct, That's made by the surgeon.
- 17 Q. Do you have a group of patient's that you follow  
18 that are perfectly normal, healthy patients and  
19 that you are screening for breast cancer?
- 20 A. Not that are my patients.
- 21 Q. Is it fair to say that the only time you really  
22 get involved with a patient as your patient is  
23 after the diagnosis of cancer has been made?
- 24 A. That's wrong.
- 25 Q. Okay. Well, straighten me out.

- 1 A. I see patients in consultation initially where  
2 they have a suspicion of problems, and the  
3 question is what should be done at that point,  
4 before they've ever seen a surgeon and I will  
5 make recommendations in that regard.
- 6 Q. So would it be fair to say then you might have a  
7 patient that would present just like Ann Dykes  
8 did after her July 25, 1989 mammogram?
- 9 A. Only if Dr. Hall had said gee, I'm concerned  
10 about this, Dr. Lane. Would you like to see this  
11 patient's mammogram and tell me what you think we  
12 ought to do? But otherwise that patient would  
13 remain with Dr. Hall. Or he could similarly have  
14 decided he wanted the surgeon to see 'the patient',  
15 But I would not otherwise be involved in that  
16 setting. I would be involved if somebody felt  
17 something, for example, that would be more  
18 likely, and they wanted to know what I thought  
19 and what else should be done. Or the patient had  
20 a mammogram or that there was great concern based  
21 on the physical exam and mammogram that the  
22 patient might have cancer, that the biopsy may  
23 not even have been done at that point, and they  
24 would want my thinking about alternatives in  
25 therapy were the lesion positive.

- 1 Q. If Dr. Fisher had called you up in July of 1989  
2 and said he was suspicious after reviewing the  
3 mammogram and was suspicious of a tumor or  
4 suspicious of cancer and reported that to you,  
5 what would you have recommended?  
6 A. Would I have been the primary care physician in  
7 that case, in other words --  
8 Q. Wouldn't matter.  
9 A. I had taken -- I had seen the patient or not?  
10 Never seen the patient?  
11 Q. Never seen the patient.  
12 A. The first thing --  
13 Q. She was referred to you with a mammogram that  
14 said suspicious for cancer.  
15 A. Wasn't referred -- Is that a hypothetical?  
16 Q. Yes, sir.  
17 A. Referred suspicious for cancer?  
18 Q. Yes, sir.  
19 A. With that wording? Well, first of all, I would  
20 like to see the patient. The first thing I would  
21 request is that I see the patient and examine  
22 her, look at her, see what else I wanted to do;  
23 check the mammogram, then talk with Dr. Fisher  
24 about it.  
25 Q. I understand from my reading about breast cancer

1 that one of the nice things about mammography is  
2 sometimes it can detect cancer before the mass  
3 becomes palpable. Is that true?

4 A. Absolutely true.

5 Q. Is that a good thing to detect a tumor before it  
6 becomes palpable?

7 A. You know, that'd be like saying you're against  
8 motherhood. Yes. The answer is sure, we'd like  
9 to find it before we can feel it. But sometimes  
10 that also is rather late. In other words, the  
11 breast may not allow you to feel it because of its  
12 configuration. But it will **show** on a mammogram.  
13 But it doesn't imply that it's **a** very, very  
14 minute lesion.

15 MR. ONSTAD: Objection, not  
16 responsive.

17 Q. (By Mr. Onstad) Is there any benefit to  
18 detecting cancer early?

19 A. The answer to that is early is an extremely  
20 difficult definition, sir. It depends on where  
21 that definition falls. In some instances, by  
22 definition -- by early, for example, the earliest  
23 change in a **Pap** smear, yes, it is extremely  
24 beneficial. In some instances, in a breast  
25 cancer, **a** minute lesion that has low biological

potential for metastasis detected on a mammogram 71

and not palpable as early, yes, that is very desirable. But the definition of the word early is a very generic term and I think largely misunderstood by a lot of people, particularly laymen, but often by physicians, too. But always better -- this is a fair statement, always better, and this is a very qualitative statement, early than late.

MR. ONSTAD: Objection, not

responsive.

(By Mr. Onstad) Is it always better to detect cancer early rather than late?

I just said that, sir. Not -- and the answer is no, I can't say that because there may be no difference in outcome in patients you define or someone may define as early versus late. There may be absolutely no difference in outcome. It depends on how early early is, what the biology of the tumor is, et cetera, and how late late is. So you can't make blanket statements to that effect. They sound very nice and they sound nice when you try and sell screening programs, which we try to sell and I try to sell, but you can't make a blanket statement about early and late.

1 MR. ONSTAD: Objection, not  
2 responsive.  
3 Q.. (By Mr. Onstad) Are there some tumors where a  
4 lumpectomy **is** the recommended surgical approach?  
5 MR. POLEWSKI: Today? I going to  
6 object **as** irrelevant if the time is not  
7 specified.  
8 Q.. (By Mr. Onstad) 1989. 1989, December of 1989 --  
9 Let me start over.  
10 As of July 1989, were **you** aware that some  
11 breast cancer was being treated with a surgical  
12 lumpectomy rather than a mastectomy?  
13 A.. Yes, I'm aware of that.  
14 Q.. Was that occurring in Houston?  
15 A.. Yes, sir.  
16 Q.. Was it occurring nationwide?  
17 A.. Yes, **sir**. Some cities more than others. More in  
18 California, more in New York, more in Chicago  
19 than in Texas.  
20 Q.. Are there reasons for doing a lumpectomy instead  
21 of a radical mastectomy?  
22 A.. Yes.  
23 Q.. Do you know what they are?  
24 A.. Yes.  
25 Q.. What are they?



- 1 A. . The primary reason is cosmetic.
- 2 Q. Cosmetics. Does that affect how a woman sees  
3 herself?
- 4 A. It affects how everybody sees the patient. But  
5 yes, it involves how the patient sees herself. A  
6 cosmesis.
- 7 Q. I noted in some of the papers you've written they  
8 focus upon the psychosocial aspects of breast  
9 cancer. Is that true?
- 10 A. That's true.
- 11 Q. And have you come to learn that women don't like  
12 to have their breasts disfigured?
- 13 A. Well, I think we've all known that for some time.  
14 I didn't have to come to learn that, anymore than  
15 men like being disfigured.
- 16 Q. I understand. But as far as the cosmetics go,  
17 it's just more than cosmetic, it affects  
18 self-esteem very greatly, doesn't it?
- 19 A. It depends on the patient.
- 20 Q. Most patients are devastated by a radical  
21 mastectomy psychologically, aren't they?
- 22 A. Well, that's a generalization that you can't  
23 make. You have to take this all into some -- you  
24 can't generalize **about** any of these things. Some  
25 people would be more devastated by the idea that

1 you've removed a small portion of the breast and  
2 have left their breasts there as a harbinger of  
3 future cancer and they insist on mastectomy. So  
4 you can't generalize about this.  
5 You have to remember that lumpectomy, which  
6 is not in itself, is never a procedure the  
7 surgeon recommends, it's a procedure the patient  
8 may ask about and inquire about, because it has  
9 to do with cosmesis and the patient's desire for  
10 herself. And it is not in itself a cancer  
11 operation, not a good cancer operation. It  
12 requires a lot more to go with it like radiation  
13 therapy and lymph node dissection. So it's not  
14 an either or situation. Some women want it.  
15 Some women don't want it. In some women who want  
16 it, it's a procedure that **you** can do. And there  
17 are indications and contraindications. And some  
18 women who want it, you can't do it. In some  
19 women who don't want it, you could have done it.  
20 So it's not a very simple subject, sir, is what  
21 I'm saying. You can't -- but it is one  
22 alternative in the management of breast cancer  
23 for some women.

24 MR. ONSTAD: Objection, not  
25 responsive.

1 Q. (By Mr. Onstad) Did *you* form an opinion as to  
2 what stage Ann Dykes' cancer would have been in  
3 July of 1989?

4 A. Well, *sir*, you weren't happy with my opinion in  
5 December, which was that effectively she had a  
6 Stage I breast cancer. And that's my opinion.  
7 And my opinion is she would have had the same  
8 stage in July of 1989; namely, Stage I breast  
9 cancer.

10 MR. ONSTAD:. Objection, not  
11 responsive.

12 A. I'm sorry, *sir*. I don't understand what you mean  
13 by not responsive. You asked me what my opinion  
14 is. I've given you my opinion and you tell me "  
15 it's not responsive. I don't know.

16 MR. TWINING: He's required --

17 MR. ONSTAD: Objection, not  
18 responsive.

19 MR. TWINING: He has to do that. He  
20 has to do that for the record. **You** need to  
21 answer his questions as best you can.

22 THE WITNESS: Just so I understand.

23 A. I'm sorry. Forgive me. I have -- just didn't  
24 capture that nuance.

25 Q. (By Mr. Onstad) Is it possible that in July of

1 1989 the stage of her cancer was in situ?  
2 A. I have no way of knowing that. Possibly is -- I  
3 would say was highly improbable.  
4 Q. Is it possible?  
5 A. Anything is possible, sir. I would say it is  
6 highly medically improbable. Because I think, as  
7 I've indicated before, that this lady had an  
8 extreme, my guess is, my educated guess, which is  
9 not really a guess, she had a very slowly  
10 evolving breast cancer.  
11 MR. ONSTAD: Objection, not  
12 responsive.  
13 Q. (By Mr. Onstad) Do you agree that the  
14 standardized treatments given for Stage I  
15 carcinoma of five-year survival rate is 90  
16 percent?  
17 A. Well, that varies from series to series. It  
18 depends on the size of the T1 lesions. And  
19 you'll see anything from 78 or so percent to  
20 above 90 percent based on many characteristics of  
21 the tumor. The problem with just using stage is  
22 that it does not take into account all of the  
23 prognostic factors in that group. So that in a  
24 series compared to another series, you may have a  
25 large variety of patients with Stage I breast

1 cancer, and the population may be skewed in a  
2 certain way in one group than another and that  
3 accounts for the wide range of five year or  
4 so-called five-year survivals that are reported.  
5 So you can't just say 90 percent T1 or Stage I.  
6 But it's not unreasonable in some series.

7 MR. ONSTAD: Objection, not  
8 responsive.

9 Q. (By Mr. Onstad) Exhibit 2 here, does it come out  
10 of a book?

11 A. It came out of a staging manual. Uh-huh.

12 Q. Is there more to that book than just a staging  
13 manual?

14 A. Well, it's called that.

15 Q. Sir?

16 A. I think it's called the Manual for Staging by the  
17 American Joint Committee on Staging. This is the  
18 thing of the American College of Surgeons. It is  
19 pretty universally used in this country.

20 Q. Is there another corresponding type book that  
21 talks about prognosis depending on the stage if  
22 the standardized protocols are followed?

23 A. I don't know what you're talking about.

24 Q. Sir?

25 A. I'm not sure I know to what you have reference

1 because there are no standardized protocols in  
2 managing breast cancer.

3 Q. I'm asking these questions.-- I've got this  
4 Everyone's Guide to Cancer here. I know it's  
5 kind of like a Reader's Digest or a National  
6 Inquirer to an oncologist, but it says Stage II,  
7 it says, the five-year survival is 66 percent.  
8 Is that a statement that you agree with or  
9 disagree with?

10 A. No, I don't agree with anything in a lay text  
11 that I can't read that is generalized, that  
12 doesn't have all the specifics, all the  
13 qualifications that are needed in an extremely  
14 complex area which is management to breast  
15 cancer. So just to, you know, pluck this out of  
16 your Reader's Digest or even out of a text, and a  
17 good text, one has to be very careful about  
18 making these types of general statements. There  
19 are too many factors that go into the  
20 prognostication of breast cancer, including the  
21 therapy that's employed, the age group of the  
22 patients. I mean there's lots of features here.  
23 But as I told you, I don't think it would  
24 have been prognostically any different if this  
25 patient had been diagnosed in July or if this

1 patient had been diagnosed in December of '90.

2 MR. ONSTAD: Objection, not

3 responsive.

4 MR. TWINING: Let's take a five  
5 minute break.

6

7 (Brief recess)

8

9 Q. (By Mr. Onstad) Do you agree that at the present  
10 time mammography is the only screening method  
11 available to detect subclinical or occult breast  
12 cancer?

13 A. I would have to say in general clinical use.  
14 There is some work going on now with MRI, but it  
15 is not generally clinically available or  
16 sufficiently refined for that purpose.

17 Q. Can mammography detect cancer before it has  
18 spread to the lymph nodes?

19 A. Mammography doesn't detect cancer. Mammography  
20 detects abnormal shadows in the breasts. And at  
21 any time if a lesion is detected in the breast  
22 and proven to be cancer, it may have occurred  
23 before or following a tumor in the lymph nodes.

24 MR. ONSTAD: Objection, not  
25 responsive.

- 1 Q. (By Mr. Onstad) Does mammography routinely  
2 depict shadows which, if followed up, lead to a  
3 diagnosis of cancer before the cancer has spread  
4 to the lymph nodes?  
5 A. I'm sorry, I don't understand that question... Say  
6 it again please.  
7 Q. Can mammography be used to image a shadow --  
8 A. Yes.  
9 Q. -- which if followed up, leads to the diagnosis  
10 of cancer before the cancer has spread to the  
11 lymph nodes?  
12 A. It may.  
13 Q. Is that one of the benefits of mammography?  
14 A. If that happens to be the case in that patient at  
15 that time, that it is a subclinical lesion  
16 detected in a mammogram, and it has not yet  
17 spread to the lymph nodes, then I would say that  
18 would be a benefit in that patient.  
19 Q. Let me hand you Dr. Martin's report. You don't  
20 know Dr. Martin personally, do you?  
21 A. No, sir.  
22 Q. Do you know what his position is over at the  
23 University of Texas?  
24 A. Well, it says he's a professor of radiology.  
25 Q. Did you take his report and compare it to



1 Plaintiff's Exhibit 1 and 2 to see if you could  
2 either confirm what **he** said or challenge it?  
3 A. Yes, sir, I have.  
4 Q. Do you agree with Dr. Martin that Plaintiff's  
5 Exhibit 1, the original mammogram before you,  
6 does show a poorly defined mass near the centrum  
7 of the breast?  
8 A. Well, I don't agree with him completely  
9 because -- well, do you want me to dilate on  
10 that? The answer is I don't agree with him, with  
11 Dr. Martin, as simply **as** that.  
12 Q. Have you ever **had** any courses in mammography?  
13 A. No, **sir**. But --  
14 Q. Have you ever taught residents in mammography?  
15 A. I wanted to finish that statement.  
16 Q. I'm sorry.  
17 **MR. TWINING:** Let him finish his  
18 answer.  
19 A. I have not taken a course, but I have read  
20 literally thousands of mammograms **with**  
21 radiologists.  
22 Q. (By Mr. Onstad) Do you see any kind of **a** mass on  
23 Plaintiff's Exhibit 1?  
24 A. I see multiple ill-defined lesions in the  
25 breasts.

- 1 Q. Are they within the areas where the red dots  
2 appear?  
3 A. Yes, there are in the -- the answer is yes. You  
4 need me to expand on that?  
5 Q. Do you see a dilated duct running to the areola?  
6 A. I see something that could so be construed.  
7 Q. Are masses on mammograms consistent with cancer?  
8 A. I don't like to answer the question the way you  
9 asked it because they're consistent with a lot of  
10 things.  
11 Q. Is one of the things they're consistent with is  
12 cancer?  
13 A. Yes.  
14 Q. They could be consistent with a bullet. Right?..  
15 A. With -- Sir?  
16 Q. With a bullet.  
17 A. It wouldn't appear as a mass, it would appear as  
18 a foreign body.  
19 Q. Okay. How would it look on a mammogram?  
20 A. Would be a solid metallic-looking structure. I  
21 mean, it doesn't look like normal tissue. You  
22 can always see a difference. Extremely  
23 homogeneous and dense and with geometric  
24 outlines.  
25 Q. Do you think Dr. Martin is qualified to review

1 and study those mammograms?

2 A. Oh, of course.

3 Q. Do you know of anybody more qualified than Dr.  
4 Martin?

5 A. Oh, I -- I know that there are some highly  
6 qualified people at our institution. I don't  
7 know how you would compare with more qualified or  
8 less qualified. But that doesn't mean that I  
9 agree with the wording he's used here, and  
10 there's implications here that I disagree with.  
11 Q. Let me turn you to his book. I thought this was  
12 kind of interesting from a lawyer's viewpoint.  
13 I'll be curious as to what you think from a  
14 doctor's viewpoint.

15 He wrote a chapter on writing mammography  
16 reports. And he has some examples of good  
17 reports and bad reports. And down here on Page  
18 35 he says, **for** example, an obvious stellate mass  
19 as seen that has all the characteristics of  
20 cancer, this is a good report, which say there is  
21 a 2 centimeter stellate carcinoma in the outer  
22 quadrant of the left breast, no axillary nodes  
23 are visible, A bad report, there is in the left  
24 breast a suggested 2 centimeter mass that may  
25 have spiculations and biopsy **would** seem to be

1 indicated.  
2 You want to take a look at that?  
3 A. Uh-huh.  
4 Q. I'm not a very good audible person. I have to  
5 see it in order to pull it in.  
6 A. Uh-huh.  
7 Q. Do you agree with that?  
8 A. Do I agree that one is a bad report? Well, yeah.  
9 I don't know that the first report is one that  
10 all radiologists would read in that fashion.  
11 This is his opinion. When he says 2 centimeter  
12 stellate carcinoma, I think many people would say  
13 there is a 2 centimeter stellate lesion strongly  
14 suggestive of carcinoma in the upper outer  
15 quadrant of the left breast, because you don't  
16 know it's cancer until you know it's cancer by  
17 biopsy.  
18 The bad report, yes -- well, the bad report  
19 is just vague. I mean, that is a bad report. If  
20 we're talking about the same lesion being  
21 observed, I would say there are too many degrees  
22 of uncertainty in that report.  
23 Q. All right. So you're saying --  
24 A. You don't say may have or et cetera. I agree  
25 that is not as -- obviously that is -- wishy

- 1 washy would be the word I'd use for it.  
2 Q. so what you're saying a vague report is a bad  
3 report?  
4 A. No. It depends on -- if the findings are vague,  
5 then the report is vague. If the finding are  
6 clear-cut, then you have to have a clear-cut  
7 report. That's what I'm saying.  
8 Q. I'm going to ask you another question about  
9 another sentence.  
10 Do you believe in order for the report to  
11 be a good report it should not leave the  
12 slightest doubt of the radiologist's opinion?  
13 A. I would say that's correct.  
14 Q. Do you agree that if a radiologist establishes a  
15 pattern of indecisive reports, the referring  
16 physicians tend to regard those reports with  
17 skepticism?  
18 A. I have no opinion on that because I'm not  
19 familiar with that circumstance. We don't  
20 have -- I've never dealt with such radiologists.  
21 Q. Do you agree that the important aspect of  
22 reporting mammograms is the delivery of a  
23 meaningful report that referring physicians can  
24 easily understand?  
25 A. I'd say **that's** correct for mammograms and for all

reports.

86

1

2 Q.

Do you know how many physicians at the Collum & Carney Clinic were relying upon Dr. Fisher for the interpretation of mammograms?

3

4

5 A.

I don't recall that, sir. I don't know how many of them order mammograms.

6

7 Q.

What is your understanding of the size of the Collum & Carney clinic?

8

9 A.

I don't recall that either. I think it's -- I've forgotten what I read about that. Perhaps maybe 30, 40 doctors, but I don't remember exactly.

10

11

12 Q.

You didn't read Dr. McCuvins deposition. He's one of the gynecologists there at the clinic. On his deposition, it was given on September 15th, he made a statement, he said, any time there's a new radiologist, there's a matter of learning how they speak, how they communicate. There's -- takes a time period to learn what those reports mean.

13

14

15

16

17

18

19

20

21

22

23

24

25

Then that precipitated a question. And the question was: Dr. McCuvins, this learning process that you and the other OB-GYN's had to go through since Dr. Fisher was hired, did this learning process have anything to do with you and Dr. Fisher having meetings, having policies

1 formulated, having words that were needed to be  
2 in black and white said on these mammogram  
3 reports so you would understand what he was  
4 doing? And the answer was no.

5 Now with that, what I'm asking you: **Do you**  
6 agree that any time you get a new radiologist,  
7 there's ordinarily some time period that has to  
8 go by where everybody learns how they  
9 communicate?

10 **A.** I have never experienced that, We deal with **many**  
11 radiologists. Most of the radiologists at our  
12 institution have been there for **a** long period of  
13 time. There has been some turnover. Whenever I  
14 get a report that suggests anything to me that --  
15 well, even when it's a normal report, I have some  
16 questions about it, I always go down to the  
17 radiologist and go over with them. I'm in the  
18 radiology department several times **a** day seeking  
19 out each radiologist. And these are for the most  
20 part patients with advanced cancers. So I don't  
21 have any communication problem with radiologists  
22 in that regard. I don't know their situation  
23 there

24 **Q.** Well, is there any kind of policy in the program  
25 that **you** supervise where a common lexicon is used

1       so that whenever the radiologist suspects that  
2       there's a lesion present, they use clear words so  
3       that everybody will understand it?  
4               MR. TWINING: What point in time?  
5               Currently?  
6               MR. ONSTAD: Yeah, currently.  
7               MR. TWINING: '89?  
8 Q.       (By Mr. Onstad) Well, let's take it today and  
9       let's work back how long that's been.  
10 A.      Okay. My answer to that is I know of no such  
11      common lexicon. I do know that these are all  
12      very skilled radiologists. They abide by --  
13      there have been several types of approaches to  
14      grading mammograms, several different schemes  
15      that have been used in the past whereby -- and I  
16      don't claim to be expert in any of them, okay --  
17      whereby they attach certain weight and  
18      significance to certain things like, for  
19      example -- I'm just pulling this out of a hat.  
20      It's my understanding that if a radiologist saw  
21      two microcalcifications, according to most  
22      mammographers, they would not report this as  
23      being very suspicious of anything. But if they  
24      were to see three or four or any increase over a  
25      period of time in the number of



1 microcalcifications, then that would be  
2 designated as a suspicious microcalcification.  
3 But -- and I think these radiologists all pretty  
4 well follow the same approach to evaluating the  
5 changes in mammograms. But I'm unaware of any  
6 standard language. But they all have the  
7 standard disclaimer on the bottom of **every**  
8 mammogram report. That's as close as I can come  
9 to that.

10 MR. ONSTAD: Objection, not  
11 responsive.

12 Q. (By Mr. Onstad) Are you familiar with this  
13 article put out by Dr. Sue -- Katherine Remp in  
14 the American Journal of Radiology, November of  
15 1987, that deals with -- in fact, the title is **A**  
16 Simple Classification System For Mammographic  
17 Reporting. And her classification involved four  
18 basic classes: Class 1, normal findings; Class  
19 2, mass or masses present, probably benign; Class  
20 3, indeterminate lesion found, suggestive of  
21 possible malignancy; Class 4, probable  
22 malignancy. Then there's an elaboration on each  
23 class.

24 A. I'm generally familiar with the existence of that  
25 article, and I know that that type of class

1 reporting is in use in some institutions. But it 90  
2 is not in use at either Methodist Hospital, that  
3 I'm aware, or St. Luke's Hospital or the Harris  
4 County Hospital District, Ben Taub Hospital. So  
5 it is one such approach.

6 Q. Well, is there a classification system that's  
7 used at the mammography center that **you** have  
8 supervisory responsibility with?

9 A. No, sir. They do not use that type of  
10 classification that I'm aware of. They will  
11 report the mammograms as they see them,  
12 indicating each in his own terms what they  
13 consider to be -- I mean, a normal mammogram or  
14 one without any suspicious areas or one that  
15 shows fibrocystic or proliferative breast disease  
16 as it's more properly called. Or they will speak  
17 to the degree of change that is more or less  
18 suggestive of a cancer and will render that  
19 report to the referring physician, but not in any  
20 categorized classification of that sort.

21 Q. Is there any kind of written policy, procedure or  
22 protocol that gives them any guidelines on what  
23 to say if in their minds after reading a report  
24 they suspect cancer?

25 A. None that I'm aware of.

1 Q. Now, if I understand your previous testimony, if  
2 the radiologists you work with after reviewing a  
3 mammogram have any suspicion of cancer, you don't  
4 want them to mince words, you want them to say --  
5 use the cancer word and then state their level of  
6 suspicion. Is that correct?  
7 A. I would say if he has a definite significant  
8 suspicion of cancer. When you say any, again,  
9 you know, is that a one in a thousand suspicion  
10 of cancer? If we're talking about that, no, I  
11 don't -- those words should be minced. But if he  
12 has a significant concern about abnormality'; in  
13 general what will happen at my institution, if I  
14 may say that, if the radiologist has significant  
15 concern, usually several things happen. One  
16 is -- and this is available today, it was not  
17 available before -- he might suggest getting a  
18 magnified view of the lesion. We did not have  
19 that available, say, in 1989 on a mammography.  
20 Secondly, they may suggest an ultrasound.  
21 Thirdly, if they're in this arena where they're  
22 strongly suspicious, they will call us. There  
23 will be a direct verbal contact between the  
24 radiologist and us telling us what's happening.  
25 And of course, whenever possible, all of these

- 1 readings will be based on examinations and  
2 comparison to prior mammograms; That's about how  
3 they operate.
- 4 MR. ONSTAD: Objection, not  
5 responsive.
- 6 Q. (By Mr. Onstad) Do you know what Dr. Fisher  
7 would have told any of the clinicians had they  
8 called him up and wanted to question him further  
9 about the mammogram?
- 10 A. As best I can determine from reading his  
11 deposition, he didn't know if there were mass  
12 lesions in there or not. That's my understanding  
13 of it.
- 14 Q. Did you learn he would have told them that the  
15 lesions he was trying to document needed to be  
16 followed up?
- 17 A. I don't recall that.
- 18 Q. Do you remember what Dr. Eric Hall said on  
19 whether or not the report was clear to him, that  
20 being the report of Dr. Fisher dated July 25,  
21 1989?
- 22 A. I think I do.
- 23 Q. Do you remember him saying the report was  
24 unclear?
- 25 A. That was my recollection, that it was unclear to

1 him.  
2 Q. When a report's unclear to you, do *you* recommend  
3 calling the radiologist to talk about it?  
4 A. I go see him.  
5 Q. Do you think that's prudent?  
6 A. I think that is prudent.  
7 Q. Do *you* think that's the standard of care for any  
8 kind of physician who is referring patients for  
9 mammograms, and when the reports comes back  
10 unclear?  
11 A. If he is unclear about the report, that doesn't  
12 mean **the** report is unclear. But if he is unclear  
13 about the report, I think it behooves him to find  
14 out what the radiologist had in mind.  
15 Q. Do you think that the words in the report should  
16 be consistent with the mental **impression** that the  
17 radiologist has?  
18 A. It **should** be consistent with what he -- what he  
19 sees, what he thinks he saw. That's correct.  
20 Q. What he sees is a brain interpretation **of** light  
21 that comes in through our eyeball. Isn't that  
22 true?  
23 A. This is a visual interpretation.  
24 Q. When we say what we see, what we really mean is  
25 what *our* brain thinks after we've looked at

1 something.  
2 A. That is correct. I agree it's an integrated  
3 process. I agree with that, sir.  
4 Q. Now, you've stated opinions here about what you  
5 think a reasonably prudent radiologist ought to  
6 do or not do. Correct?  
7 A. Based -- yes, that's correct.  
8 Q. What medical organizations do you belong to?  
9 A. I belong to the Americal Medical Association,  
10 American College of Physicians, the Harris County  
11 Medical Society, American Society of Clinical  
12 Oncology, American Society of Hematology,  
13 American Society of Clinical Pharmacology and  
14 Therapeutics, American Society of Experimental ..  
15 Pharmacology, Pharmacology and Experimental  
16 Therapeutics. There's several more. Let me see  
17 my CV to make sure I haven't left any important  
18 ones out.  
19 MR. TWINING: Sure.  
20 THE WITNESS: Can we take a short  
21 break? I want to call my office before the  
22 girls leave.  
23 MR. TWINING: Sure.  
24  
25 (Brief recess)

1  
2 Q. (By Mr. Onstad) Let me hand you Dr. Fisher's  
3 report dated 7-25-89. You've examined that,  
4 haven't you?  
5 A. Yes, sir.  
6 Q. Does that raise any suspicion in your mind that  
7 the radiologist was of the opinion there might be  
8 cancer present?  
9 A. To me the answer is that I can't tell one way or  
10 the other from this report whether he is  
11 suspicious or not, because he **says** he cannot  
12 definitely exclude from either breast a mass  
13 lesion.  
14 Q. Would it cause you any alarm to do any further  
15 follow-up?  
16 A. What I would have done is I would have gone to  
17 look at the mammograms with him if I had seen  
18 this report, because, you know, no matter how  
19 careful you attempt to be with words, they may  
20 not convey exactly what your intent is. And you  
21 know the old saying, one picture is worth a  
22 thousand words? I don't know what a small patchy  
23 density is until I look at it. Then they're in  
24 both breasts, that's another question. So I  
25 would have gone to look at them.

- 1 Q. If he told you he thought there might be a tumor  
2 present and that he was suspicious for cancer,  
3 what would you do?
- 4 A. I would have asked him what additional studies  
5 would he have recommended in this setting, if he  
6 was that suspicious.
- 7 Q. If he was trying to imply suspicion of cancer in  
8 that report, do you think he did **so**?
- 9 A. I don't think so. I don't think this report  
10 implies cancer or noncancer.
- 11 Q. Does that report send up any red flags to you  
12 that there might be cancer present?
- 13 A. Not in any specific way.
- 14 Q. Aren't these mammogram reports kind of like  
15 dialing 911, if you see cancer, I mean?
- 16 Well, let me tell you what I'm trying to  
17 say. If you see cancer, you need to put out the  
18 word in a real clear and positive way. Isn't  
19 that true?
- 20 A. If you think you see a specific suspicious  
21 lesion, you have to make that clear,
- 22 Q. Kind of like walking down the street at 6:00  
23 o'clock in the morning and seeing smoke and fire  
24 coming out someone's bedroom window, you've got  
25 to set out the alarm?



1 A. If that's what you think you see. Something --  
2 then you have to say that. If you don't see it,  
3 you don't say it.  
4 Q. It's not the kind of thing you mince words with  
5 and it's not the kind of thing you keep to  
6 yourself?  
7 A. Not if he thought that there was cancer present.  
8 This report does not suggest that.  
9 Q. Do you think the report should suggest to a  
10 reasonably prudent referring physician a  
11 necessity to follow up by going down and talking  
12 to the radiologist?  
13 A. It may. As I've indicated, it's hard to --  
14 there's nothing in this report that says I see a  
15 lesion that is suspicious for cancer, because  
16 there are a lot of patchy densities reported in  
17 both breasts. All he says is that there is a  
18 mass lesion there. He can't exclude it based on  
19 this study. And I think that's a very accurate  
20 representation.  
21 Q. Okay. Let me show you Page 423 out of the Harris  
22 County Medical Directory, and I've circled Dr.  
23 Watson. Maybe if you see his picture and you see  
24 a little blurb on him, you will recognize him.  
25 Do you know that Dr. Alfred Watson?

- 1 A. No, sir. I don't know where at Baylor he works.  
2 He does not work -- I see he's listed as One  
3 Baylor Plaza. He may be at the Ben Taub. He's  
4 not at the Methodist.
- 5 Q. Do you have the same address he does?  
6 A. Yeah. That's out Baylor academic address.  
7 Q. Well, I note -- For example, here's the copy of  
8 the page that's got you. It shows you both at  
9 One Baylor Plaza and it shows you both working  
10 for Baylor. Right?
- 11 A. It shows that I'm in the Department of  
12 Pharmacology, and we're both located, our  
13 academic offices are at One Baylor Plaza. That's  
14 correct.
- 15 Q. And what kind of a doctor does it reflect he is?  
16 A. He's in diagnostic radiology.  
17 Q. Is that the area that deals with interpreting  
18 mammograms?
- 19 A. Yes, sir. Well, it may not. Depends on what he  
20 does in diagnostic radiology.
- 21 Q. Do you know?  
22 A. I don't know.  
23 Q. Do you know --  
24 A. It could embrace that.  
25 Q. Do you know that the same people that retained

1           you retained him to examine the mammograms?  
2 A.       No, sir.  
3 Q.       Now that you know that, wouldn't you want to know  
4           what he found?  
5 A.       Wouldn't hurt to listen.  
6 Q.       Do you agree that if you're going to review a  
7           case and be an expert witness, that you need to  
8           review all **the** facts thoroughly and fairly and  
9           not exclude any relevant information?  
10 A.      The facts are what has happened in the case.  
11          Everything after that is interpretative, in my  
12          opinion.  
13 Q.      Well, being that you're not a radiologist,  
14          wouldn't you be interested to know what a Baylor  
15          radiologist found on reading these mammograms?  
16          You think that would be relevant?  
17 A.      It may or may not be, I'd be happy to hear what  
18          he said.  
19 Q.      In fact, you're really not even qualified to be  
20          giving opinions about the standard of care for  
21          radiologists because you're not a radiologist.  
22          Isn't that true?  
23 A.      Well, not really. I'm not giving opinions about  
24          the total capability of the radiologist, but  
25          whether this report, based on what I've seen, all

1 the mammograms I've seen, whether this report  
2 conforms at least to what I would expect a  
3 radiologist to say about them. And I am an  
4 oncologist and I see an awful lot of breast  
5 cancer and have for 40 years.

6 MR. ONSTAD: Well, objection, not  
7 responsive.

8 Q. (By Mr. Onstad) You prepared a report dated  
9 October 15th, 1993, didn't you?

10 A. Yes, sir.

11 Q. In the first page of your report, you stated it  
12 was your expert opinion arrived at after careful  
13 review and analysis of the materials in this  
14 case, that Dr. Fisher, his conduct was well  
15 within the standard of care for reasonably  
16 prudent radiologists. Do you recall that  
17 language?

18 A. Yes, sir. I said that.

19 Q. Well, don't you agree with me that the ethics of  
20 your profession require you to limit your giving  
21 standard of care opinions into the specialties in  
22 which you're qualified?

23 A. I don't know that that's necessarily true. I've  
24 never seen that written. It may be, but I've  
25 never seen that written. And I would think I

1 could have some opinion in there. For example,  
2 just to take an example, a surgeon went in to do  
3 a varicose vein stripping on one of my patients  
4 and said he stripped out the femoral artery and  
5 the woman lost a leg, I could tell you without  
6 being a professor of surgery, that that was not  
7 within the standard of care of practicing  
8 surgeons.

9 Q. Well, what is the standard of care for  
10 radiologists in articulating their mental  
11 impressions on a mammogram?

12 A. That the mental impression should convey their  
13 interpretations of what they see in the  
14 mammogram.

15 Q. You think the report should reflect what was in  
16 their mind?

17 A. Again, we're back to that. I think the report  
18 should reflect their interpretation of the  
19 mammographic findings that they see. .

20 Q. Well, I'm couching it in terms of your knowledge  
21 about the standard of care for board certified  
22 radiologists.

23 A. Again, what I'm saying, they should reflect their  
24 interpretation of the information conveyed to  
25 them by the mammogram as they perceive it.

- 1 Q. Where is this standard of care written for  
2 radiologists and what they should write in their  
3 reports?
- 4 A. Well, to my knowledge, in terms of mammography,  
5 there has been no standard of care, so to speak,  
6 written. Most of the concepts of standard of  
7 care really are -- if you'll let me finish  
8 this -- are concepts of physicians practicing and  
9 common physician practices. Now, there has been  
10 an effort recently on the part of the, I think  
11 the American Society of Radiology to codify some  
12 of this in terms of what radiologists doing  
13 mammograms should do, the kind of physics that  
14 goes into the center, you know, make sure that  
15 the quality control is maintained in that regard,  
16 And now they are going about trying to, I  
17 think -- I don't know if the word is licensed,  
18 but basically give a seal of approval to  
19 mammography organizations that set themselves up  
20 to do screening or other mammographic studies  
21 that would be considered high quality.
- 22 MR. ONSTAD: Objection, not  
23 responsive.
- 24 Q. (By Mr. Onstad) I'm asking you to tell me where  
25 it's published. Are there any places where this

1 is published?

2 A. I'm not sure of the answer to 'that question. I  
3 don't know.

4 Q. Have you ever studied the radiology literature  
5 for articles that talk about how to write a good  
6 report on a mammogram?

7 A. I've not studied that. I've seen reports. I've  
8 seen recommendations, but I have not made a study  
9 of that literature.

10 MR. ONSTAD: I'm going to pass the  
11 witness. I understand Mr. Twining is going  
12 to ask you some questions. If I have any  
13 more, I'll ask them when he's finished.  
14

15 EXAMINATION

16 BY MR. TWINING:

17 Q. Dr. Lane, Kent Twining here representing Dr.  
18 Fisher, for the record.

19 Will you tell us how long you've practiced  
20 medicine?

21 A. A little over 41 years.

22 Q. And is there a specific area that you practice  
23 primarily in?

24 A. Yes, sir.

25 Q. What area is that?

- 1 A. Medical oncology.  
2 Q. What is medical oncology?  
3 A. Medical oncology is a subspecialty of the  
4 specialty of internal medicine which deals with  
5 aspects of the prevention, diagnosis and  
6 treatment **by** nonsurgical means and  
7 nonradiotherapeutic means of patients with  
8 cancer.  
9 Q. Does that area of specialty involve seeing  
10 patients and examining patients who have breast  
11 cancer?  
12 A. Yes, sir.  
13 Q. Does that area of specialty include working with.  
14 mammograms?  
15 A. Yes.  
16 Q. Are you affiliated with any of the medical  
17 schools here in the State of Texas?  
18 A. I am.  
19 Q. How are you affiliated -- well, first of all, who  
20 are you affiliated with and how?  
21 A. I'm affiliated with the Baylor College of  
22 Medicine. I am a professor of pharmacology  
23 and -- which is the subject that deals with  
24 drugs, and a professor of medicine at that  
25 institution. And I am head of the division of



1 clinical oncology at Baylor College of Medicine.  
2 clinical oncology is the subspecialty of cancer  
3 or medical oncology.  
4 Q. How long have you been affiliated with Baylor  
5 Medical School?  
6 A. For over 33 years.  
7 Q. Are you board certified in any area?  
8 A. Yes, sir.  
9 Q. What area are you boarded certified in?  
10 A. I'm board certified in internal medicine, and I  
11 am board certified in medical oncology; and I am  
12 board certified in clinical attrition.  
13 Q. What does board certification represent in  
14 medical circles?  
15 A. Well, it is an indication that someone has gone  
16 through a certain requisite program of training  
17 and experience followed by the successful  
18 completion of an examination that is prepared by  
19 that particular specialty organization. So that  
20 in my own case, the first board examination that  
21 had to be passed was that of the American Board  
22 of Internal Medicine. In order to do that, I had  
23 to complete a year of internship, three years of  
24 residency training and two years of clinical  
25 practice, and then took a written examination

1 prepared by the members of the board. And once  
2 that was successfully completed, I had to take an  
3 oral examination which patients were presented to  
4 me and then I was quizzed about the patients  
5 and -- by various examiners. Then I had to pass  
6 that.

7 That has been simplified today so that  
8 current trainees take three years of medical  
9 residency, the first year really being an  
10 internship, and then two years residency. And  
11 they just take a board examination. Then having  
12 passed that -- that is a prerequisite to being  
13 able to take the certifying examination of  
14 medical oncology.

15 In order to take the subspecialty  
16 examination of medical oncology, one has to  
17 complete at least two years of what we call  
18 fellowship training in oncology beyond the  
19 medical residency level; that is, in which your  
20 training is devoted completely to the field of  
21 medical oncology. And having done that, one then  
22 has to take a qualifying examination in medical  
23 oncology and pass it to be certified as a  
24 diplomate of that board.

25 Q. How many years of professional experience as a

1 board certified oncologist: do you have with  
2 regards to the review of mammograms and the  
3 interpretation of ~~mammograms~~ in patients who are  
4 suspected to have ~~some type~~ of breast cancer or  
5 screening them for ~~potential~~ breast cancer?  
6 A. Well, to answer your ~~question~~, the certification  
7 in medical oncology ~~was not~~ possible prior to the  
8 year 1973 because there was no board until 1973.  
9 So that's when I took the board and passed it.  
10 On that basis, I have been a certified medical  
11 oncologist reviewing -- ~~seeing patients with~~  
12 breast cancer and reviewing ~~mammograms~~ for 20  
13 years. In terms of medical oncology contact, I  
14 have performed medical oncology services for 38  
15 years.  
16 Q. All right. The hospitals that you're affiliated  
17 with were again what please?  
18 A. Well, I'm a senior attending physician at the  
19 Methodist Hospital, Houston, Texas. I'm a senior  
20 attending physician at the Ben Taub General  
21 Hospital which is part of the Harris County  
22 Hospital District. And I am a consulting and  
23 attending physician at the Veterans  
24 Administration Hospital, Houston, Texas. And I  
25 have courtesy privileges, I rarely go there, at

1 the St. Luke's Hospital.  
2 Q. All right. With regards to all of the opinions  
3 that you've been asked by -- to express by Mr.  
4 Onstad thus far, have those been based on  
5 reasonable medical probability?  
6 A. Yes, sir.  
7 Q. Can I likewise ask you to express any additional  
8 opinions that you might be called upon to give  
9 during the rest of *your* deposition based on  
10 reasonable medical probability as opposed to  
11 speculation or guessing?  
12 A. Yes, sir.  
13 Q. If you're called upon by any attorney asking you  
14 questions at this deposition that fall below;  
15 that call you to guess or speculate, would you  
16 please indicate so?  
17 A. Yes, sir.  
18 Q. All right, Do you have an opinion as to whether  
19 or not Dr. John Fisher was negligent in his  
20 interpretation of the mammogram -- mammograms of  
21 Ann Dykes taken in July of 1989?  
22 MR. ONSTAD: Objection, form of the  
23 question, failure to lay a proper  
24 predicate.  
25 Q. (By Mr. Twining) Do you have such an opinion?

- 1 A. Yes, sir.
- 2 Q. What is your opinion?
- 3 MR. ONSTAD: Objection, form of the
- 4 question, failure to lay a proper
- 5 predicate.
- 6 A. I do not believe that Dr. Fisher was negligent in
- 7 his reading of those mammograms, his.
- 8 interpretation of those mammograms.
- 9 Q. (By Mr. Twining) Have you reviewed in forming
- 10 that opinion and in forming other opinions I'll
- 11 ask you about all of the medical records that *you*
- 12 file are pertinent to Ann Dykes case?
- 13 A. Yes, I have.
- 14 Q. You have learned in discussing the case with Mr.
- 15 Onstad that there have been certain depositions
- 16 that you may not have reviewed. Do you feel like
- 17 you need to review those before expressing any
- 18 opinions regarding this case?
- 19 A. No, sir.
- 20 Q. Is there anything that was not provided to you
- 21 originally by my offices which you felt that you
- 22 needed to review or see before you formed any
- 23 final opinion?
- 24 A. Well, there were some things and then I obtained
- 25 them from you subsequently.

- 1 Q. What things did you want to look at and review  
2 before forming any final opinions in this case  
3 which were not originally provided to you?  
4 A. Well, I did not have the original mammograms to  
5 review. And I wanted those. Initially, as I  
6 recall, I did not have Dr. Martin's review  
7 letter. And I subsequently got that. As I  
8 recall, I did not have Dr. Willis' records. I  
9 got that, his deposition. And I had not had an  
10 opportunity to review the slides with myself and  
11 with a pathologist.  
12 Q. What slides are you referring to?  
13 A. I'm referring to the slides which are the  
14 histologic slides or tissue slides that were  
15 prepared from the breast cancer and breast  
16 tissues and lymph nodes removed during Mrs.  
17 Dykes' modified radical mastectomy.  
18 Q. All right. Have you reviewed all of those  
19 materials?  
20 A. Yes, sir.  
21 Q. Do you have an opinion, Dr. Lane, as to whether  
22 or not Dr. John Fisher in his report, his written  
23 report of July of 1989 accurately interpreted  
24 what is seen in the mammograms of July of 1989?  
25 MR. ONSTAD: Objection, leading.

1                   Objection, failure to lay a proper  
2                   predicate.  
3 Q.           (By Mr. Twining) Based on reasonable medical  
4                   probability.  
5 A.           I do.  
6                   MR. ONSTAD: Okay.  
7 Q.           What is that opinion?  
8                   MR. ONSTAD: Objection, failure to  
9                   lay a proper predicate.  
10 Q.          (By Mr. Twining) Based on reasonable medical  
11                   probability.  
12                   MR. ONSTAD: Objection, failure to  
13                   lay a proper predicate.  
14 A.          It is my opinion that he fairly represented and  
15                   reported and interpreted what he saw in those  
16                   mammograms.  
17 Q.          (By Mr. Twining) All right. In reaching that  
18                   opinion about the interpretation -- in his  
19                   reporting of his interpretation from the July of  
20                   1989 mammograms, did you find it helpful to  
21                   compare those to mammograms taken of Ann Dykes  
22                   later in December of 1990?  
23 A.          Yes, sir.  
24 Q.          **Would** it be helpful to you in explaining to us  
25                   here today what you reviewed and looked at in

1 comparing the two sets of mammograms?

2 A. I think it would.

3 Q. Okay. Let me hand you what has previously been  
4 marked as Exhibits No. P1 and P2. And if you  
5 would, hold those up to the camera and explain to  
6 the jury what those are.

7 A. This is what is called a craniocaudad view of --  
8 mammography view of Mrs. Dykes' right breast.  
9 And that picture is taken through the breast this  
10 way from the cranial and to the caudal line and  
11 recorded on a photographic plate or the  
12 mammography plate. And that's what we see here.

13 And this view is a medial lateral, lateral  
14 medial view which is going across the patient's  
15 breast horizontally in this fashion. The patient  
16 is standing this way with her arm out and the  
17 picture is being taken this way and the plate is  
18 on the other side of the breast. And what's  
19 being recorded is a soft tissue x-ray or a  
20 mammogram.

21 The difference between conventional x-rays  
22 and so-called xeromammography is that in a  
23 conventional x-ray, one has a film that you're  
24 mostly familiar with that you can hold up and  
25 look through, and it's due to the deposition of



1 grains of silver; whereas this process of  
2 xeromammography uses a solid sheet of paper and  
3 the picture takes on this blue characteristic.  
4 Both of these are acceptable techniques of  
5 mammography. They have certain advantages and  
6 disadvantages. But xeromammography is very  
7 commonly used, perhaps more commonly used than  
8 film mammography in this period of '89 to '90.

9 So this is the so-called lateral view, if  
10 you wish. Or medial lateral view. And the  
11 breast is seen hanging down. The picture is  
12 taken this way. And the other, again, is a view  
13 taken this way through the breast.

14 MR. ONSTAD: Objection, not  
15 responsive.

16 MR. TWINING: Can I ask you to mark  
17 this, Janet?  
18

19 (Deposition Exhibit No. 4 was marked  
20 for identification by the reporter.)  
21

22 Q. (By Mr. Twining) Now, the xeromammograms taken  
23 of Ann Dykes in July of 1969 that you just held  
24 up and showed us and explained to us about, are  
25 those the mammograms that were reviewed by Dr.

- 1 Fisher, and are the things that we can see in  
2 these two mammograms, the things that he reports  
3 to us in his report dated July 25, 1989, which  
4 our court reporter has marked as Exhibit No. 4?  
5 A. Yes, sir, with the exception that the red dots  
6 were not on there. They were added later. But  
7 other than that, that's what he examined.  
8 Q. Okay. To make sure the record's clear, when were  
9 the red dots added, to your understanding, in  
10 reference to this case?  
11 A. My best recollection, they were placed there -- I  
12 may be wrong, but my best recollection was during  
13 Dr. Schapira's deposition, that he was asked to  
14 put some dots there. But may be it was during  
15 Dr. Fisher's deposition.  
16 Q. Only --  
17 A. One of them. It was during deposition, but it  
18 was -- I think it was Dr. Fisher. I think it was  
19 Dr. Fisher.  
20 Q. I just want to make sure the record's clear that  
21 the red dots were added during one of the  
22 procedures here in this lawsuit as opposed to  
23 care and treatment she received at collum &  
24 Carney Clinic.  
25 A. Correct.

1 MR. ONSTAD: Let's take a break. I  
2 need to call Dr. Longley because he's going  
3 to be leaving one place and going to  
4 another and expecting us.

5  
6 (Short recess)  
7

8 Q. (By Mr. Twining) Dr. Lane, let me ask you to  
9 take the July of 1989 mammograms and take the  
10 report drafted by Dr. Fisher regarding what he  
11 views in those July of 1989 mammograms, and ask  
12 you if you can hold the mammogram up for the  
13 camera, and as you read the report of Dr. Fisher,  
14 point to the pertinent areas that he refers to  
15 for us, if you would please, in the July of 1989  
16 report.

17 MR. ONSTAD: Objection, failure to  
18 lay a proper predicate.

19 A. I'll try. I don't know if this will --

20 Q. (By Mr. Twining) Try not to jiggle it around too  
21 much.

22 A. Let me set it down. It will be jumping all over  
23 the place.

24 Well, briefly --

25 MR. TWINING: Can you see that

1                   okay? Let me move this up. Maybe you  
2                   can --  
3                   MR. ONSTAD: Looks like a bigger fire  
4                   ant bed.  
5 A.               It's just too difficult to define.  
6 Q.               (By Mr. Twining) All right.  
7 A.               Why -- may I make a suggestion? Because it is so  
8                   difficult to define, let me just address the  
9                   question of the patchy densities and the  
10                  statement that follows that.  
11 Q.              Fair enough.  
12                  MR. ONSTAD: Objection,  
13                  nonresponsive.  
14 Q.              (By Mr. Twining) Let me see the report so I can  
15                  read the verbiage exactly.  
16 A.              You can read it.  
17 Q.              This portion of the report dated July of 1989  
18                  wherein Dr. Fisher refers to small patchy  
19                  densities being visualized within both .breasts,  
20                  do you see those in the mammograms that you have  
21                  in front of you labeled Plaintiff's Exhibit 2  
22                  and 1?  
23                  MR. ONSTAD: Objection, form of the  
24                  question and failure to lay a proper  
25                  predicate.

1 A. I do see them in the right breast mammograms  
2 which I have here and -- yes, I see them.  
3 Q. (By Mr. Twining) Are they noted with any  
4 markings on the exhibit?  
5 A. Yes.  
6 MR. ONSTAD: Excuse me. I object to  
7 the form of the question as failure to lay  
8 a proper predicate.  
9 Q. (By Mr. Twining) Can you describe for us how  
10 they're so marked on the exhibit?  
11 MR. ONSTAD: Kent, I don't want to  
12 keep interrupting you, but every time you  
13 ask him to give any kind of interpretation  
14 on the mammogram, I want to have a running  
15 objection on failure to lay a proper  
16 predicate and failure to show he's  
17 qualified to read and interpret mammograms.  
18 If you'll spot me that objection, I'll quit  
19 making these objections.  
20 MR. TWINING: You want a running  
21 objection on failure to what?  
22 MR. ONSTAD: On failure to lay a  
23 proper predicate that he's not qualified to  
24 interpret these mammograms and render  
25 opinions as to what the things on them

1 show.  
2 MR. TWINING: And what were your  
3 other objections you wanted a running  
4 objection on?  
5 MR. ONSTAD: I want to object on that  
6 point to all your questions that deal with  
7 what does the mammogram show or what does  
8 it mean or what is this as it relates to  
9 the mammograms. What I'm trying not to do  
10 is delay your taking the deposition, but I  
11 want to preserve the objection.  
12 MR. TWINING: I don't have a problem  
13 with that and I understand that you're  
14 going to be objecting to the doctor's  
15 testimony and you're going to be taking the  
16 position he's not qualified, so on and so  
17 forth, and I don't have any problem with  
18 that.  
19 MR. ONSTAD: or interpreting the  
20 mammograms?  
21 MR. TWINING: Yeah.  
22 MR. ONSTAD: So you'll give me a  
23 running objection on that point?  
24 MR. TWINING: I will.  
25 A. All right, sir. Yes, there are some red marks

1           that have been scattered over several areas on  
2           both mammograms.  
3 Q.       (By Mr. Twining) All right.  
4 A.       Both mammographic views of the right breast.  
5 Q.       Okay. Earlier counsel, Mr. Onstad was asking you  
6           whether or not these types of small patchy  
7           densities would be consistent with cancer or  
8           carcinoma, and I recalled your response being  
9           that that would be perhaps one thing it could be  
10          consistent with but there would be others as  
11          well. Is that --  
12 A.       That's correct.  
13 Q.       What other types of things would small patchy  
14          densities such as the things we observe in  
15          Plaintiff's Exhibit 2 be consistent with?  
16 A.       They could be consistent with areas of fat  
17          necrosis. They could be consistent with benign  
18          tumors within the breast. They could be  
19          consistent with fibrotic changes due to previous  
20          trauma, previous hemorrhage. They could be  
21          consistent with artifact created while taking the  
22          picture. The picture doesn't always, depending  
23          on how it was taken, demonstrate anything that  
24          really represents any pathological finding within  
25          the breast so that there are a whole variety of

1 shadows. And that's why I believe that Dr.  
2 Fisher called them small patchy densities.  
3 Certainly there are a minimum, as outlined even  
4 by the red marks, three such areas in the medial  
5 lateral view of the breast. And he's indicated  
6 perhaps three, not necessarily corresponding to  
7 these three, in the craniocaudad view so that  
8 they are fairly nondescript as I see them.  
9 Q. Okay.  
10 A. They have no special characteristics.  
11 And then in the left breast, if you have  
12 that, this is 12. Here's the left breast of July'  
13 '89, there are at least one, two, possibly three  
14 patchy densities, small densities in the medial  
15 lateral view. And there are perhaps one or two  
16 that I would think would conform to that  
17 definition, namely, a patchy density in the  
18 craniocaudad view of the left breast on July  
19 1989.  
20 Q. All right.  
21 MR. ONSTAD: Objection, not  
22 responsive.  
23 Q. (By Mr. Twining) Let me show you what our court  
24 reporter had in another deposition, and I recall  
25 it being Dr. Fisher's, quite frankly, marked as



1 exhibit -- Plaintiff's Exhibit No. 6, and ask you  
2 to identify that for the record.  
3 A. This is the mammogram taken on Elizabeth Ann  
4 Dykes on December 6, 1990 of her right breast,  
5 and this is a medial lateral view.  
6 Q. What is Exhibit 5, Plaintiff's Exhibit 5?  
7 A. Exhibit 5 is a craniocaudal view of the patient's  
8 right breast taken on December 6, 1990.  
9 Q. You see the area that has been demarcated with  
10 the four red hash marks in each of those two  
11 views?  
12 A. Yes, I do.  
13 Q. Do you recall, again generally, when and how  
14 those red hash marks were placed there?  
15 A. As I recall, they were placed during deposition,  
16 and I thought they were Dr. Fisher's is my best  
17 recollection, but --  
18 Q. Okay.  
19 A. -- may have been one of the other doctors'  
20 depositions.  
21 Q. Assume with me if you will that the testimony has  
22 been thus far that the area suspicious of  
23 carcinoma in December of 1990 was that area  
24 demarcated with these red hash marks. Is that  
25 consistent with your recollection of the

1 testimony?  
2 MR. ONSTAD: Objection, leading.  
3 A. Yes, .sir.  
4 Q. (By Mr. Twining) When you take **the** mammograms of  
5 December of 1990 and take this area that was  
6 suspicious in 1990, December of 1990, is there  
7 any way for you or any other physician reviewing  
8 this case to correlate that area to any  
9 suspicious area viewed in the July of 1989  
10 mammogram?  
11 A. Well, first of all, I don't know what you mean by --  
12 suspicious area in the July mammogram. I mean,  
13 there's nothing to me that is particularly  
14 suspicious. There are some patchy ill-defined  
15 densities.  
16 Q. This is my question, I guess: The area that is  
17 marked in December of 1990 --  
18 A. Excuse me, let me --  
19 Q. Sure.  
20 A. I don't want to confuse -- I have too many  
21 mammograms. This is left. It doesn't belong  
22 here. And this is left.  
23 Okay. Now, we have these right.  
24 Q. Okay. Is there any way that we can take the area  
25 identified as suspicious for carcinoma in

1 December of 1990 from the two mammmograms we have  
2 before us marked as Exhibits 5 and 6 and  
3 correlate that to any area that we see in the  
4 July of 1989 mammmograms which are marked as  
5 Exhibits 1 and 2?  
6 A. In my opinion, one cannot do that with any degree  
7 of assurance because, first of all, the breasts  
8 are not in the same position. The area that  
9 appears here is underlined, is out in the middle  
10 of the breast in this craniocaudad view. And  
11 here there are two areas that are sort of marked.  
12 And there's a third one. But they're not up in  
13 the middle of the fatty portion of the breast.  
14 So I can't find an area that exactly anatomically  
15 corresponds to this.  
16 Now, in the medial lateral view, there were  
17 these three areas here. Looking backward, two of  
18 them have disappeared **sort** of and sort of blended  
19 into all of this. So it's possible. It's  
20 possible, again, the views not being comparable,  
21 that this area here might correspond to this area  
22 here. But I can't say that with certainty.  
23 MR. ONSTAD: Objection, not  
24 responsive.  
25 Q. (By Mr. Twining) Do you have an opinion whether

1 or not there are any findings available either  
2 clinically or by way of mammography which would  
3 enable you or any other physician reviewing this  
4 case to tell us whether or not Ann Dykes had  
5 breast cancer in July of 1989?

6 MR. ONSTAD: Objection, fails to lay  
7 a proper predicate and calls for  
8 speculation.

9 A. The answer to that is not with certainty.

10 Q. (By Mr. Twining) Okay. If, if Ann Dykes had  
11 breast cancer in July of 1989, do you have an  
12 opinion after having reviewed all the  
13 mammographic studies done both in July of 1989  
14 and afterwards in December of '90 and reviewing  
15 all of the medical records, do you have an  
16 opinion that if she had breast cancer, it was  
17 detectable or undetectable in July of 1989  
18 mammographically?

19 A. I do.

20 Q. What's that opinion?

21 A. It was not detectable based on these mammograms.

22 Q. If Ann Dykes had breast cancer in July of 1989,  
23 undetectable or otherwise, do you have an opinion  
24 as to whether or not the rate of growth of any  
25 cancer she may or may not have had was a

1 fast-growth type cancer or a slow-growth type  
2 cancer or otherwise?  
3 A. I do.  
4 Q. What is that opinion?  
5 A. It is my opinion it was an extremely slowly  
6 growing cancer.  
7 Q. Do you have an opinion based on your review of  
8 the records in this case whether or not the  
9 cancer, if she had any cancer in July of 1989,  
10 would have been an aggressive type or a  
11 nonaggressive type?  
12 A. I do.  
13 Q. What is that opinion?  
14 A. A nonaggressive type,  
15 Q. Can you explain for us briefly why it's your  
16 opinion that if Ann Dykes had breast cancer in  
17 July of 1989 it would have been of a slow growing  
18 type and a nonaggressive type?  
19 A. Yes, sir.  
20 Q. Would you explain that, please?  
21 A. Yes. Well, first of all, the tumor or cancer --  
22 let's call it a cancer -- was only 1.2  
23 centimeters pathologically when it was removed.  
24 Q. When was that?  
25 A. And that was in December of 1990.

1 Q. All right.

2 A. At that time, histologically it was described as  
3 either moderately or moderately well  
4 differentiated carcinoma, which in general is  
5 a -- tends to be a nonaggressive tumor. In  
6 addition, studies were performed on the tumor to  
7 assess what we call the estrogen receptor and the  
8 progesterone receptor. These are measurements  
9 that if elevated and -- if elevated, correlate  
10 with less aggressive rather than more aggressive.  
11 So the higher these receptor levels are in  
12 general, the less aggressive the tumor is. .

13 Now, receptors are present in a smaller  
14 proportion of premenopausal women, which *Mrs.*  
15 Dykes was at the time and still is, I believe.  
16 And in general in premenopausal women, these  
17 receptors are of lower magnitude or value as  
18 compared to postmenopausal women. In  
19 postmenopausal women, receptors occur in a higher  
20 percentage of patients or present in a higher  
21 percentage and the values tend to be considerably  
22 higher when they are present than in  
23 premenopausal women.

24 The values of receptors in Mrs. Dykes' case  
25 were extremely high. Her progesterone receptor

1 was about 350 femtomoles per milligram of  
2 protein. And the estrogen receptor, as I recall,  
3 was about 120. These are very high values. And  
4 there is a proportionate prognostic indication  
5 which is actually given on the report that was  
6 rendered on these receptors which correlates the  
7 level of the progesterone receptor with survival,  
8 And hers would have put her tumor at the highest  
9 opportunity for survival which would be  
10 consistent with or consonant with a relatively  
11 nonaggressive tumor.

12 In addition, the pathology indicated that  
13 she had only two micrometastases in her lymph  
14 nodes. And micrometastases which volume wise are  
15 less than a volume of the two millimeter lesion,  
16 which is a tiny, tiny lesion, have been found not  
17 to indicate prognosis -- have been found not to  
18 influence prognosis adversely. It's as if those  
19 lymph nodes were not involved. So that means  
20 that if indeed cancer was present back in July of  
21 1989, which is 17 months earlier, and if -- then  
22 the patient had obvious cancer diagnosed 17  
23 months later, then that cancer had only achieved  
24 in itself a size of 1.2 centimeters, which is a  
25 very small lesion, a T1 lesion, and had not

1 produced lymph node involvement that is  
2 clinically significant in terms of influencing  
3 prognosis. Both of these facts, plus the high  
4 receptor, plus the histological moderately well  
5 differentiated neoplasm to me mean that this is a  
6 nonaggressive type of breast cancer.  
7 MR. ONSTAD: Objection, not  
8 responsive.  
9 Q. (By Mr. Twining) One factor which you considered  
10 in expressing the opinion that we have a slow  
11 growing nonaggressive type cancer, if such is  
12 present in July of 1989, as I understand your  
13 testimony, was the shape of the lesion removed in  
14 December of 1990?  
15 MR. ONSTAD: Objection.  
16 Q. (By Mr. Twining) Would that be one such factor?  
17 Is that true?  
18 MR. ONSTAD: Objection, leading.  
19 Q. (By Mr. Twining) Or did I misunderstand?  
20 MR. ONSTAD: Objection, leading.  
21 A. I don't understand that question. It does not  
22 ring a bell with me.  
23 Q. Let me talk to you --  
24 A. You mean that it was a round lesion rather than  
25 an infiltrating lesion?



- 1 Q. Yes, sir.
- 2 A. In that sense, yes, it indicates a low degree of
- 3 invasiveness, which is sort of interesting
- 4 because the mammogram is interpreted as showing
- 5 spiculation or stellate change, and the
- 6 implication of that often is that the cancer is
- 7 infiltrating like a crab. That's where the word
- 8 cancer came from, crab. But this was a
- 9 well-defined lesion which did not infiltrate into
- 10 the areas around it. And, therefore, it would
- 11 appear to have a very low level of invasiveness.
- 12 Q. Another factor, as I understand your testimony in
- 13 opining on this cancer if present in July of 1989
- 14 being a slow growth nonaggressive type, was the
- 15 high level of estrogen and progesterone receptors
- 16 in Mrs. Dykes?
- 17 MR. ONSTAD: Objection, leading.
- 18 Q. (By Mr. Twining) Is that so?
- 19 A. Well, that's what I commented on earlier. Yes,
- 20 sir.
- 21 Q. And then the third factor, if I understood you
- 22 correctly, had to do with the size of
- 23 micrometastases found in the two lymph nodes that
- 24 are referenced in this case being involved when
- 25 they were examined pathologically in December of

1 1990?

2 MR. ONSTAD: Objection, leading.

3 A. That is correct.

4 Q. (By Mr. Twining) Will you explain briefly for us  
5 the process of metastases and micrometastases in  
6 the lymph node system and why it's significant in  
7 Ann Dykes' case? I'm not sure that I followed  
8 you on that explanation.

9 MR. ONSTAD: Objection, multiple  
10 questions; form of the question.

11 A. The process of metastases is the process of  
12 spread. Cancers vary in their capacity to  
13 metastasize. Metastasis does not always -- or  
14 the metastasizing capacity does not always  
15 correlate with growth rate. But in general, slow  
16 growing tumors are less likely to metastasize  
17 than very rapidly proliferating tumors.

18 Metastases take place in three ways  
19 generally. The first is direct local spread or  
20 invasion. And that had to do with the shape of  
21 this -- Mrs. Dykes' tumor, which was one that  
22 tended to remain sort of balled up and did not  
23 send out invasive strands of tumor into the  
24 surrounding tissue so that it appeared as a  
25 well-defined nodule when it was removed by Dr.

1 Hillis and as examined subsequently by  
2 pathologists.

3 The second form of invasion is through the  
4 lymphatics in the breast to the draining lymph  
5 nodes, which are the lymph nodes in general under  
6 the arm. But there are lymph nodes in other  
7 areas which may be involved. Tumors that have a  
8 higher tendency to invade lymphatics and multiply  
9 in lymph nodes are tumors which in general have a  
10 poor prognosis; that is, the more lymph nodes  
11 that are involved at the time of diagnosis, the  
12 greater the likelihood that the cancer would  
13 recur in the future. Now, it doesn't mean that  
14 the recurrence is out of those lymph nodes.

15 That is merely a predictor for poor  
16 survival because it correlates eventually with  
17 the third way in which cancer is spread, and that  
18 is by direct entry into the bloodstream. And  
19 that is what is commonly referred to by most  
20 people as metastases, but they are distant  
21 metastases.

22 Q. What did you find --

23 MR. ONSTAD: Wait a minute, a second.

24 Objection, not responsive.

25 Q. (By Mr. Twining) What did you find in reviewing

1 the pathological slides of the two lymph nodes in  
2 Mrs. Dykes' case as it pertains to the metastases  
3 or the spread, if you will, of cancer from her  
4 breast tissue to the two lymph nodes reviewed?  
5 A. I reviewed these lymph nodes with the chief of  
6 anatomical pathology at Methodist Hospital, Dr.  
7 Thomas Wheeler. And we used a microscope that  
8 has two separate sets of eyepieces so we could  
9 both look at the same fields at the same time  
10 and with a pointer so various areas could be  
11 pointed out. And we found that, as was noted by  
12 the original pathologist, that of 23 lymph nodes  
13 that were removed, and all of these, I'd say,  
14 were very small lymph nodes, there were only two  
15 that contained any metastatic cancer. And these  
16 were two very small lymph nodes. In each of  
17 these we measured using a micrometer, which is in  
18 the eyepiece, the millimeter micrometer, the  
19 diameters, the two largest diameters of each of  
20 the tumors. And I independently determined the  
21 micrometer size in each of these two directions,  
22 and Dr. Wheeler determined this independently,  
23 and recorded our findings which were within a --  
24 fractions of a millimeter in each case.

25 The two lesions diameters were recorded and

1 I measured them out and he did, and then  
2 calculated the average diameter, which in each  
3 case was under two millimeters, and calculated  
4 further the volume which was smaller than the  
5 volume that would have been occupied by the two  
6 millimeter focus or sphere. And it has been well  
7 established that the finding of lesions that are  
8 less than two millimeters is of no prognostic  
9 clinical significance in an adverse way. And  
10 this is documented throughout the medical  
11 literature. There have been numerous studies to  
12 that effect and they're reported in DeVita, in  
13 the book that I cited, and is so stated also by  
14 the committee on stagings when these lesions are  
15 under two millimeters, it is prognostically as  
16 though the lymph nodes are not involved at all.

17 MR. ONSTAD: Objection, not  
18 responsive.

19 Q. (By Mr. Twining) Is that finding that you made  
20 in reviewing the pathological slides of these  
21 lymph nodes significant to you in classifying the  
22 cancer found in Ms. Dykes in December of 1990?

23 A. Yes, sir.

24 Q. Is it significant to you in formulating some  
25 prognosis for *Mrs.* Dykes after her cancer was

1 found and removed in December of 1990?

2 A. Yes, sir.

3 Q. I want to ask you about those opinions in a  
4 second, but let me first have **you** explain to us  
5 what the system of classification of breast  
6 cancers is and why oncologists and physicians  
7 classify breast cancers in examining patients.

8 MR. ONSTAD: Objection, form of the  
9 question, multiple questions.

10 A. The system of breast cancer classification in  
11 general is similar to the systems that are used  
12 for classifying many human tumors at different  
13 sites in that conventions have been established  
14 to first determine a tumor size-range, and that  
15 is given as a T value, T1, T2, T3, T4 for  
16 different sites. T1 may have a different size  
17 because prognostically it might be different, say  
18 for a tumor of the colon to have a T1 that's 1  
19 cm. That might be 1 cm in the colon, and in the  
20 breast it could be under 2 cm. It varies with  
21 what happens to the patient. In other words, the  
22 staging systems are evolved based on studies of  
23 thousands of patients in the past and what  
24 different size tumors, what different degrees of  
25 node involvement and what metastases have to do

1 with the ultimate prognosis of the patient.

2 Q. In theory --

3 MR. ONSTAD: Objection, not  
4 responsive.

5 Q. (By Mr. Twining) In theory, what does  
6 classifying a patient's cancer, breast cancer  
7 let's say in this case, enable a physician or  
8 oncologist to do with regards to that patient?

9 A. Okay. In general, the first thing, and that's  
10 which we think is very important, is to allow  
11 oncologists throughout the country to describe  
12 their patients' disease in similar fashions so  
13 that they can then, when evaluating forms of  
14 therapy, see if their results are Comparable;  
15 better or worse than those of other physicians.  
16 So you have to have some common descriptor of  
17 what the extent of the disease is.

18 Secondly, based on those classifications,  
19 studies are carried out, actually studies are  
20 carried out comparing different forms of therapy.  
21 But it would be pointless to compare therapy, for  
22 example, in a patient who had a very tiny tumor  
23 with no lymph node involvement and no metastasis  
24 to the therapy of a patient with a large tumor,  
25 lots of lymph nodes and distant metastasis.

1           There would be no point to such a comparison.  
2           So, therefore, the patient's entered into  
3           treatment programs, if you're going to study the  
4           effects of the treatment, should have diseases  
5           that are comparably staged. So we use T to  
6           designate the size of the tumor. N to indicate  
7           the lymph node involvement. And N is broken down  
8           into various subletters so that describing the  
9           number of lymph nodes, the size of involvement,  
10          the degree of involvement, and whether the nodes  
11          are stuck together or not. So there are a lot of  
12          subclassifications there. And M means any  
13          distant metastasis, for example, in the lungs or  
14          the liver or the bones or other than lymph nodes.  
15 Q.       Okay.  
16                       MR. ONSTAD: Objection, not  
17                       responsive.  
18 Q.       (By Mr. Twining) Do you have an opinion based on  
19          your view of the records in this case and based  
20          on your years of experience as a board certified  
21          oncologist about what classification or what  
22          staging **Mrs.** Dykes' cancer as detected in  
23          December of 1990 was?  
24 A.       Yes, sir. But in order to do that I have to  
25          carry that TNM system one step further which is



1           into staging or stage grouping --

2 Q.       Okay.

3 A.       -- if I may.

4           Because these are each separate variables,  
5 **although** they may be interdependent, it is  
6 possible, for example, to have a tumor, say, of  
7 size which we'll just say T3, okay, which would  
8 be larger than five centimeters, depending on  
9 whether nodes are involved or not, in the absence  
10 of any distant metastasis, to be in the same  
11 stage grouping as somebody who had **a** smaller  
12 tumor with lymph nodes.

13          So the stages then represent groupings  
14 together of patients with various tumor sizes;  
15 degree of lymph node involvement and metastases  
16 so that you can have similar prognostic outcomes  
17 even though the tumor sizes may not be the same.  
18 So that **a** patient who may have a T1 tumor and  
19 positive lymph nodes, okay, may have the same  
20 staging as **a** patient who has a T2 tumor, which is  
21 a bigger tumor, but no lymph nodes. And these  
22 are called stage groupings. And they're based on  
23 the experience with these types of degrees of  
24 involvement. Okay?

25          So based on that, in general, we would say

1 that patients who were to have a tumor of a T1  
2 size, okay, which was Mrs. Dykes' tumor, and  
3 just -- and no lymph node involvement and no  
4 distant metastasis, that cancer would be  
5 classified as a Stage I.  
6 MR. ONSTAD: Objection, not  
7 responsive.  
8 Q. (By Mr. Twining) Is that classification in lay  
9 terms sort of the best classification from the  
10 patient's perspective?  
11 A. Yes, sir. Yes, sir. That would be the best  
12 classification you could be in.  
13 Q. Do you have an opinion based on your review of  
14 the materials and your review of the pathological  
15 slides what classification you would put Mrs.  
16 Dykes' cancer as it was ultimately found and  
17 removed in December of '90?  
18 A, Okay. Yes. Yes, I do. And I have to say that  
19 based on just classification without the  
20 modifications that is listed there, her tumor  
21 would -- her disease would have fallen into the  
22 class of Stage II because if you just take that  
23 she had tumor of a size under 2 centimeters and  
24 lymph node involvement, okay, lymph node  
25 involvement automatically would throw that into

1 Stage II disease. However, as the staging  
2 indicates, if that lymph node involvement is **less**  
3 than **2** millimeters, then that is functionally or  
4 effectively the same as an **NO**. So that while you  
5 have to say Stage II, it would have to be  
6 modified because P, which **is** pathological, **N1A**  
7 which means lymph node involvement, **1A** meaning  
8 less than 2 millimeters, is the same as **NO**. So  
9 **if you throw** that back to **NO**, then the patient  
10 **has Stage I** disease. It's not effectively  
11 different.

12 MR. ONSTAD: Objection, not  
13 responsive.

14 Q. (By Mr. Twining) Do you have an opinion as to  
15 whether effectively Ann Dykes' cancer as  
16 classified in stage and found in December of 1990  
17 would be consistent with the best type of  
18 classification that we referenced earlier --

19 MR. ONSTAD: Objection, leading.

20 Q. (By Mr. Twining) -- or inconsistent?

21 A. I would put her in the best prognostic category,  
22 namely Stage I disease.

23 Q. Even if Ann Dykes had breast cancer in July of  
24 1989, do you have an opinion whether or not it  
25 would effectively then have been the same type of

1 cancer that was ultimately found in December of  
2 1990?

3 MR. ONSTAD: Objection, leading.

4 A. Yes. We would not expect the cancer to become  
5 very different in that period of time. I mean,  
6 it would have the same histologic  
7 characteristics. If anything, a cancer with time  
8 might -- well, it's over such a short period of  
9 growth, I would have to say I would anticipate  
10 that had one been found, it would have looked the  
11 same under the microscope and have the same  
12 receptors and other characteristics.

13 Q. Would her prognosis have been any --

14 MR. ONSTAD: Excuse me. Objection;  
15 not responsive.

16 Q. (By Mr. Twining) Would her prognosis have been  
17 any different in December July of 1989 if she had  
18 cancer and if such a cancer were detectable and  
39 found?

20 A. In my opinion, no, because she was already in the  
21 best prognostic category in 1990 so that, -- since  
22 the only variable then would have been the size  
23 of the tumor, since lymph nodes were of no  
24 significance. Then the question is what was the  
25 precise size of her tumor and would it have made

1 a heck of a lot of difference if her tumor was  
2 1.1 cm or 1 cm or .9 cm. And it's very hard to  
3 say that that would have created a major  
4 distinction, particularly in the face of those  
5 very high estrogen receptors.

6 MR. ONSTAD: Objection, not

7 responsive.

8 Q. (By Mr. Twining) I want to switch gears with you  
9 a little bit here and talk to you about the type  
10 of therapy or the type of surgical intervention  
11 that Ann Dykes received ultimately in December of  
12 1990.

13 Do you have an opinion based on your review  
14 of these records and mammograms and your review  
15 of the depositions you've referenced before, do  
16 you have an opinion -- if Ann Dykes had breast  
17 cancer in July of 1989 and if it had been  
18 detectable and if it had been detected, do you  
19 have an opinion about whether or not the medical  
20 intervention, the type of therapy received would  
21 have been any different from what she ultimately  
22 received in December of 1990?

23 A. Yes, I do.

24 Q. What's that opinion?

25 A. Well, in the short, my opinion is it would have

1           been the same. And now I'd like to turn to the  
2           December therapy first --

3 Q.       Let me ask you why you feel that way.

4 A.       All right, sir. I feel that way because in  
5           December '90, Dr. Hillis performed a biopsy. He  
6           knew at that time from the mammogram he could not  
7           feel the tumor distinctly. And he said he  
8           couldn't feel the lesion. But based on some  
9           sense of fullness or whatever, he knew this  
10          lesion was very close to the nipple, areola  
11          complex. It was under it. And between the  
12          mammogram and his feeling, he knew where to go.  
13          So he made an incision above the areola, just  
14          around it, and then he dug deeply under the  
15          areola and came **up** with a piece of tissue which  
16          contained the tumor mass. That went to the  
17          pathologist who did a frozen section and  
18          confirmed that this was cancer of the breast.

19          Dr. Hillis immediately proceeded to do a  
20          modified radical mastectomy. There was no  
21          discussion about how big that tumor was. There  
22          was no discussion as to what it looked like in  
23          terms of differentiation since you can't really  
24          tell this from a frozen section, just know that  
25          you've got a breast cancer. And Dr. Hillis

1 proceeded then to do what he considered to be the  
2 appropriate procedure for her, which was a  
3 modified radical mastectomy. At that time there  
4 was no knowledge as to whether there were other  
5 lesions in the breast. There was no knowledge as  
6 to whether there were lymph nodes involved. That  
7 only could be determined later after all the  
8 tissues were examined finally by the pathologist,  
9 which was days later.

10 Now, if Dr. Hillis had been the surgeon in  
11 July '89, which is not unreasonable since he was  
12 referred by Dr. Hall -- the patient was referred  
13 by Dr. Hall to Dr. Hillis. If Dr. Hillis had  
14 done the surgery, he would have done the same  
15 surgical procedure because it did not matter to  
16 him, he indicated, that -- that he -- Let's say  
17 he was not of a mind of doing lumpectomy to begin  
18 with in any patient and he so indicated in his  
19 deposition. He did not feel that the cosmetic  
20 advantages of lumpectomy were such that he would  
21 do lumpectomies in people. And he stand -- on a  
22 standard bases he did not do them. So he didn't  
23 even consider it in the patient. Now, --

24 MR. ONSTAD: Object --  
25 A. Excuse me. May I --

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. ONSTAD: Objection, not responsive.

-- finish my answer, if I may.

It would have of, in my mind, have been a very, I would say, a strong relative contraindication to do a lumpectomy in this patient either in December of 1990 or in July of 1989 because of the location of the tumor. The tumor was located under the nipple and under the areola complex. One cannot do as Dr. Schapira suggested a quadradectomy. I mean, which quadrant would you take out? This is right in the middle of the breast. So **you** had to do a coring out of the entire nipple and areola complex which would leave a big Concavity in the breast. Most surgeons that I've spoken to and most books indicate and authorities that this is a relative contraindication to a lumpectomy.

Similarly, even if the tumor was a little smaller when detected, if detected in July, and it would still have been under the areola complex, so that again, a wide excision of the whole nipple and areola complex would have been required as the lumpectomy. And this is not a



1       cosmetically satisfying procedure. So most  
2       surgeons tend to avoid it. And I have spoken  
3       with surgeons who do a fair number of  
4       lumpectomies, they will never do a lumpectomy in  
5       a patient who has a tumor under the areola.  
6       So in summary, I think the location of this  
7       lesion which would have been the same, it may  
8       have been a little smaller, but would have been  
9       at the same location, would have necessitated a  
10      large removal of the entire nipple-areola complex  
11      leaving a cosmetically unsatisfactory breast.  
12      Secondly, this would have had to be  
13      discussed with the patient in advance. And  
14      evidently there was no discussion of lumpectomy  
15      in advance.  
16      Thirdly, Dr. Hillis, had he done the  
17      procedure, has already stated in his deposition  
18      he wouldn't have done a lumpectomy in the first  
19      place. So I think for all these reasons the  
20      therapy would not have changed. The primary  
21      surgical therapy, which in my opinion was the  
22      optimum therapy for this patient, was a modified  
23      radical mastectomy.  
24               MR. ONSTAD: Objection, not  
25               responsive.

1 MR. TWINING: That's all I have for  
2 you right now. I'll reserve the rest of my  
3 questions. Thank you.

4 THE WITNESS: Thank you,

5 MR. TWINING: Can we take a short  
6 break?  
7

8 (Short recess)  
9

10 EXAMINATION

11 BY MR. POLEWSKI:

12 Q. Doctor, my name is John Polewski. I represent  
13 Dr. Hall and the clinic. My first question to  
14 you is: **Are** you planning on appearing live at  
15 the trial of this case?

16 A. If asked, I intend to be there.

17 Q. Have you been asked?

18 A. Not directly yet.

19 Q. Doctor, when you were testifying earlier, as I  
20 understand your testimony, you believe that a  
21 radiologist who is giving you reports should give  
22 clear reports of what he sees. Is that correct?

23 A. Yes, sir,

24 Q. And if he **definitely** sees cancer, he should  
25 definitely say that?

- 1 A. If he definitely sees something that he believes  
2 to be highly suggestive of cancer or suspicious  
3 of cancer, yes, sir.
- 4 Q. And if what he sees on the film is inconclusive,  
5 then he shouldn't be scaring people by saying  
6 cancer is there when he doesn't see it. Isn't  
7 that also **true**?
- 8 A. Well, he should say what he sees and interpret  
9 what he means. And if he thinks that there is  
10 nothing suggestive of cancer, he shouldn't say  
11 that there is something suggestive of cancer. I  
12 would agree with that.
- 13 Q. And as I understand your testimony, **you** feel that  
14 Dr. Fisher's report in July of 1989 was a proper  
15 and appropriate report given what was on the  
16 mammograms themselves.
- 17 **MR. ONSTAD:** Objection, leading.
- 18 A. In my opinion, that is correct.
- 19 Q. (By Mr. Polewski) Doctor, do you have an opinion  
20 one way or the other as to whether or not Dr.  
21 Hall did something wrong in this case?
- 22 **MR. ONSTAD:** Objection, failure to  
23 lay a proper predicate.
- 24 Q. (By Mr. Polewski) First, do you have an opinion?  
25 A. Yes, I do.

- 1 Q. All right. **And**, Doctor, let me ask you to assume  
2 that the definition of negligence in the State of  
3 Texas is, with respect to a physician, the  
4 failure to do that which a physician of ordinary  
5 prudence would have done under the same or  
6 similar circumstances or doing that which a  
7 physician would not have done under the same or  
8 similar circumstances. Can you assume that  
9 definition with me?
- 10 A. Yes, sir.
- 11 Q. Assuming that definition, Doctor, do you have an  
12 opinion as to whether Dr. Hall's conduct with  
13 respect to reading the report from Dr. Fisher and  
14 his subsequent conduct was negligent?
- 15 MR. ONSTAD: Objection, failure to  
16 lay a proper predicate.
- 17 A. I have an opinion.
- 18 Q. (By Mr. Polewski) And what is that opinion, sir?
- 19 MR. ONSTAD: Objection, failure to  
20 lay a proper predicate.
- 21 A. In my opinion, Dr. Hall was not negligent in his  
22 behavior.
- 23 MR. POLEWSKI: Doctor, I will reserve  
24 the rest of my questions until the time of  
25 trial. Thank you.

THE WITNESS: Thank you, sir.

FURTHER EXAMINATION

BY MR. ONSTAD:

Q. What is your understanding of the clarity Dr. Hall had in his mind after reading the July '89 mammogram?

A. It's my understanding that Dr. Hall was -- did not find anything in this reading to suggest the presence of a cancer and, therefore, told the patient to return in one year for a repeat mammogram and examination.

MR. ONSTAD: Objection, not responsive.

Q. (By Mr. Onstad) What did Dr. Hall testify about whether the report was clear or unclear to him?

A. He subsequently testified, he said it was unclear to him, as I recall.

Q. But it's your understanding --

A. I have that page.

Q. -- that in Dr. Hall's mind, after reading the report, the report was unclear to him. Is that your understanding of Dr. Hall's testimony?

A. It's my understanding that's what he said in his deposition.

1 Q. Do you understand --  
2 A. I don't have his -- May I see the deposition  
3 please, sir?  
4 Q. Do you understand he was under oath when he gave  
5 that testimony?  
6 MR. TWINING: What's the page you're  
7 looking at?  
8 MR. BARKER: You're being very unfair  
9 at this.  
10 MR. ONSTAD: I'm just asking him if  
11 he recalls, if his recall is correct.  
12 Q. (By Mr. Onstad) Do you recall if Dr. Hall was  
13 unclear when he read the report?  
14 A. Would you mind -- would you mind, sir, referring  
15 to that page so I can find it again?  
16 Q. Do you understand when Dr. Fisher --  
17 A. Excuse me, what page are you on?  
18 MR. TWINING: If you're going to ask  
19 him to testify about testimony Dr. Hall has  
20 given, and you're sitting there looking at  
21 a transcript, I only think it's fair that  
22 you refer him to whatever part of the  
23 deposition you're referring to.  
24 MR. ONSTAD: I don't have to do that.  
25 MR. TWINING: If you're saying that

1                   you may not be reading from the transcript,  
2                   then fine. But if you're reading something  
3                   from the transcript, I think you need to  
4                   inform him where you're reading from.  
5 Q.            (By Mr. Onstad) You're the one that's getting  
6                   paid \$400 an hour to read this stuff and remember  
7                   it, aren't you?  
8 A.            I'm getting -- I'm getting paid to read it and  
9                   analyze the case. But I'm not being paid to  
10                  remember every word of testimony.  
11 Q.            Whatever you're doing, you're getting paid --  
12 A.            I'm not a camera, you know.  
13 Q.            You understand that Dr. Fisher was of the  
14                  position that his report of July 25, '89 sent up  
15                  a red flag of suspicion for cancer?  
16 A.            That is not my understanding.  
17 Q.            Well, did you read his deposition?  
18 A.            We're talking about Dr. Fisher? Didn't we go  
19                  over this earlier? And which I said I thought he  
20                  said red flag and this being an indeterminate  
21                  reading.  
22 Q.            I've got a different question now. Look at Page  
23                  63.  
24 A.            Of which?  
25 Q.            Dr. Fisher. The question beginning on Line 19.

1 A. 63. Yes, sir.  
2 Q. It says, let me go back to the mammogram in July  
3 25, 1989. Is it your position that your report  
4 sent **up a** red flag of suspicion for cancer?  
5 What was his testimony?  
6 A. His testimony there was yes, **sir**. But  
7 subsequently his testimony was that it's -- that  
8 it was indeterminate.  
9 MR. ONSTAD: Objection, not  
10 responsive.  
11 Q. (By Mr. Onstad) Let's try it again. Let's see  
12 if we can get the complete answer and the  
13 complete question.  
14 This is the complete question. Okay. Let  
15 me go back to the mammogram in July 25, 1989. Is  
16 it your position that your report sent up **a** red  
17 flag of suspicion for cancer?  
18 Would you read the complete answer?  
19 A. Yes, sir.  
20 Q. Thank you.  
21 MR. TWINING: You don't want to read  
22 any more of his deposition?  
23 MR. ONSTAD: If you want to read his  
24 whole deposition, you can.  
25 Q. (By Mr. Onstad) You studied that deposition and



1 prepared to come in here and give your opinions,  
2 did you not?

3 A. I did. And I gave you my opinion based on the  
4 **entire deposition**, which included his response to  
5 **questions** asked by Mr. Polewski that indicated  
6 that it was an indeterminate report.

7 **MR. ONSTAD:** Objection, not  
8 responsive.

9 Q. (By Mr. Onstad) Do you think it's prudent to  
10 wait 15 months to treat an adenocarcinoma of the  
11 breast?

12 A. That's a question out of context. The assumption  
13 here is that somebody had an adenocarcinoma of  
14 the breast that was diagnosed and left untreated  
15 for 15 months. If there was such a case where  
16 the patient had a known carcinoma of anything,  
17 no, it would not be prudent to wait 15 months to  
18 treat it.

19 Q. Why would it not be prudent to wait 15 months to  
20 treat an adenocarcinoma of the breast?

21 A. Again, with the predicate that one knows that you  
22 have a carcinoma of anything, including the  
23 breast, it is not prudent to wait to treat it  
24 because the opportunities for effective therapy  
25 are greater shortly after diagnosis than after 15

1 months in most cases.

2 Q. Does the majority of medical literature on cancer  
3 of the breast indicate that if a Stage I cancer  
4 is properly treated in a timely fashion, the  
5 chances of disease pre-survival are five --  
6 excuse me. I'm going to start this question all  
7 over.

8 Do you agree that the majority of medical  
9 literature on breast cancer stands for the  
10 proposition that if a Stage I adenocarcinoma of  
11 the breast is timely and properly treated,.  
12 there's only a 5 to 10 percent recurrence chance?

13 A. At what time point?

14 Q. Over ten years.

15 A. At ten years it usually is. It's variable  
16 between 74 to -- 78 to 94 percent, depending on  
17 many other characteristics of the tumor.

18 Q. And on Stage II, how does it compare?

19 A. Stage II, again if it's a Stage II of the sort  
20 we're talking about, the figures fall down at ten  
21 years to about 55 percent. This is without  
22 anything other than the general information that  
23 it's Stage II and the general information that  
24 all you're doing is surgery and you're not doing  
25 any additional therapy.

- 1 Q. Have you ever seen any protocols that recommend  
2 chemotherapy for Stage I cancer of the breast?  
3 A. Absolutely.  
4 Q. What type of chemotherapy?  
5 A. CMF is generally what's been recommended.  
6 Q. And what's CMF?  
7 A. Cytosan and methotrexate and fluorouracils  
8 usually according to the program developed by  
9 Bonnadonna (phonetic) and his colleagues in  
10 Milan. But that's not the only form of  
11 chemotherapy. Many people use what we call FAC  
12 or F-A-C, which is fluorouracil, adriamycin and  
13 cytosan. Those are two fairly commonly employed  
14 programs.  
15 Q. Now, your charges since you've been involved in  
16 this case have been \$9,200. That's before you  
17 got here today. Right?  
18 A. That's correct.  
19 Q. And what are your times today?  
20 A. I don't know. Until we're over.  
21 Q. Well, how many hours have you been involved so  
22 far today devoted to this case?  
23 A. Well, I'd say it looks like four hours.  
24 Q. **And** is that at the \$600 per hour rate?  
25 A. That's correct.

1 Q. You didn't have any prep time today beyond your  
2 testifying time?  
3 A. That's- correct.  
4 Q. What is your charge to come to Texarkana and  
5 testify?  
6 A. \$600 an hour.  
7 Q. When does that time start?  
8 A. That's time and testimony. Time to get there is  
9 \$400 an hour. And back.  
10 Q. Okay. So you charge \$400 an hour to travel?  
11 A. Yes, sir.  
12 MR. ONSTAD: That's all the questions  
13 I have.  
14  
15 FURTHER EXAMINATION  
16 BY MR. POLEWSRI:  
17 Q. Doctor, just so we don't get confused in this  
18 deposition, the ten-year survival rates that Mr.  
19 Onstad was talking about are ten-year survival  
20 rates for Stage I cancers of all types and Stage  
21 II cancers of all types. Is that correct?  
22 MR. ONSTAD: Excuse me. Object to  
23 the side bar remark and object to the  
24 question as leading.  
25 A. Yes. It includes all -- the whole range of Stage

1 I tumors with all characteristics of the tumors  
2 histopathologically, receptor wise, et cetera.  
3 Anything in that heading so long as it's a T1 NO  
4 MO .

5 Q. (By Mr. Polewski) And, Doctor, with respect to  
6 this case, we know the particular type of cancer  
7 which Ann Dykes had, do we not?

8 A. Yes, sir.

9 Q. And so with respect to this case, do you have an  
10 opinion as to whether or not the gross ten-year  
11 survival rates for Stage I cancers of all types  
12 is even relevant to this case?

13 MR. ONSTAD: Objection, leading.

14 Q. (By Mr. Polewski) First, do you have an opinion?

15 A. I have an opinion.

16 Q. And what is it, sir?

17 A. Well, it's relevant as a general guide, but when  
18 one factors in all the other prognostic things we  
19 talked about, this patient in my mind has  
20 probably at this time a 95 plus percent chance of  
21 surviving ten years.

22 MR. ONSTAD: Objection, not  
23 responsive.

24 MR. POLEWSKI: Thank you, Doctor,  
25 that's all I have.

MR. TWINING: Reserve the rest of  
ours. Thank you, sir.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

... ..  
... ..

... ..  
... ..  
... ..  
... ..

... ..  
... ..  
... ..

... ..