

IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO

- - -

AGNES VERNON. et al

CASE NO. 153600

VS.

KAISER HOSPITAL, et al

- - -

Oral deposition of HARVEY J. LERNER,
M.D., held at 330 South Ninth Street, Suite 100,
Philadelphia, Pennsylvania, on Wednesday, March 22, 1989,
at 1:30 o'clock p.m., before Joan M. Convery, a Registered
Professional Reporter and Commissioner, pursuant to
notice.

- - -

ROSENBERG & ASSOCIATES
161 Eagle Rock Road
Roseland, New Jersey 07068
(201) 228-3118

1 APPEARANCES:

2 CHARLES I. KAMPINSKI CO., L.P.A.
 3 By: CHARLES I. KAMPINSKI, ESQUIRE
 4 1530 Standard Building
 Cleveland, Ohio 44113
 Attorneys for the Plaintiffs

5 GALLAGHER, SHARP, FULTON & NORMAN
 6 By: JOHN B. ROBERTSON, ESQUIRE
 Bulkley Building, Sixth Floor
 7 1501 Euclid Avenue
 Cleveland, Ohio 44115
 Attorneys for the Defendants

8 - - -

9 I N D E X

10 <u>WITNESS</u>	<u>INTERROGATION BY</u>	<u>PAGE</u>
11 Harvey J. Lerner, M.D.	Mr. Kampinski	3

12 - - -

13

14

15

16

17

18

19

20

21

22

23

24

1 (It is agreed by and between counsel
2 that reading, signing, sealing and certification
3 are hereby waived; all objections, except as to the
4 form of the question, are reserved until the time
5 of trial.)

5 - - -

7 HARVEY J. LERMER, M.D., having been
8 duly sworn, was examined and testified as follows:

9 BY MR. KAMPIMSKI:

10 Q Would you state your full name for the record?

11 A Harvey Lerner.

12 Q And spell your last name, Doctor.

13 A L-E-R-N-E-R.

14 Q I am going to ask you a number of questions this
15 afternoon, Doctor. If you don't understand any of my
16 questions, please tell me, I will be happy to rephrase
17 any question you don't understand. When you respond to my
18 questions, please do so verbally. She will be taking down
19 everything we say. And she can't take down a nod of the
20 head, okay?

21 A Yes.

22 Q Doctor, I was handed your CV. And I have had a
23 chance to go through it, not in any great detail, but I
24 glanced at it. You are board certified in surgery,

1 correct?

2 a Correct.

3 Q Is there a board for oncology?

4 A Surgical oncology?

5 Q Yes.

6 A The closest thing to having the tickets for
7 surgical oncology is being a member of the Society of
8 Surgical Oncology.

9 Q So that there is no board for surgical oncology?

10 A No formal certification board, correct.

11 Q Is there a medical oncology board?

12 A Yes

13 c But that's not something that you would aspire to
14 after being a surgeon or would you?

15 A The answer is I tried to take those boards when
16 they announced that they were going to establish that
17 board. And I can't tell you the year. But we've been
18 doing chemotherapy and chemotherapy and cooperative group
19 trials for a while. And I said I would like to sit and
20 take the board examination. But the ground rules to take
21 the exam were that I had to have gone through an internal
22 medicine residency program. So I was not permitted to
23 take the exam.

24 Q In other words, they deemed you didn't have

1 sufficient background or qualifications?

2 A 1 did have the qualification, requiring I take an
3 internal medicine residency training program.

4 Q Doctor, in most of your early papers, with a few
5 notable exceptions, you wrote primarily as it relates to
6 cancer of, cancer of the head and neck. Would that be a
7 fair statement?

8 A Yes.

9 Q Did there come a shift in emphasis at some point in
10 your career, or is that still a major emphasis for you?

11 A We don't see as much. And it's a yes and no
12 answer. We were seeing a lot at that time when we were
13 involved with a drug that we used with X-ray treatments.
14 And head and neck lent itself very well to objective
15 responses; you could see it or you didn't see it.

16 And since then I have been involved in
17 more cooperative trials, the The National Surgical
18 Adjuvant Breast and Bowel Project and the Eastern
19 Cooperative Oncology Group. In that sense there has been
20 a shift, a shift away **from** many solo things, the national
21 cooperative group trials.

22 Q And these cooperative group trials, I take it, you
23 provide them with information or, your patients that they
24 then put into a national survey?

1 A In essence, but they are randomized prospective
2 trials.

3 Q What does that mean?

4 A That means I don't pick and you don't pick the
5 treatment. Randomized prospective means the design is
6 now, and we are going to see what the results are as a
7 result of this treatment. It's not a go back to the
8 hospital records and see what happened in the past-type
9 arrangement.

10 Q I see.

11 A And so I have been involved in a great number of
12 adjuvant trials, surgery or surgery plus something.

13 Q The last one I see here is 1988.

14 A Those are the published ones, yes. And there are
15 some others that have been accepted for publication. They
16 are not on the CV.

17 Q They have not yet been published, but rather they
18 have been accepted for publication?

19 A Yes, or submitted.

20 Q What are those, Doctor?

21 A Right offhand, I couldn't a hundred percent say.
22 There is one breast lumpectomy trial paper that **will** be
23 coming out shortly in the New England Journal of Medicine
24 and one adjuvant colon cancer paper. And I can't tell you

1 for sure where that's coming out. That has been accepted
2 for publication, And that's an ECOG publication. And
3 it's a followup of a previous publication. And there are
4 several carcinoma papers that either have been submitted or
5 are almost completely completed.

6 Q ECOG stands for what?

7 A Eastern Cooperative Oncology Group.

8 Q And it's a follow up of what paper, this one that
9 you say has been submitted?

10 a If we give the young lady with the typing machine a
11 break, I will look through this with you,

12 Q Okay.

13 (Pause.)

14 BY MR. KAMPINSKI:

15 Q Would it be 128?

15 A It would be a followup of that.

17 Q And were there any other conclusions in this newer
18 one that were different than what was set forth in 128?

19 A Essentially, no, Either one of those treatments
20 that were used as adjuvant, in addition to the surgery,
21 did not improve overall survival.

22 Q When you say adjuvant, you're referring to what,
23 Doctor?

24 a In this instance, adjuvant means something given in

1 addition to the surgery, And it was chemotherapy- One
2 drug regimen versus a different drug regimen.

3 Q And do you as a surgeon get involved in adjuvant
4 therapy?

5 A Yes.

6 Q To what extent?

7 A We take care of our patients from diagnosis to
8 death.

9 Q Do you get assistance from anyone in the medical
10 field, as opposed to surgical field?

11 A If there is a specific medical problem.

12 Q Well, I didn't mean that.. I meant in terms of
13 providing them with chemotherapy or radiation?

14 A Radiation therapy we always get with the radiation
15 therapist.

16 Q Okay.

17 A For the tumors that we care for, we have done our
18 own chemotherapy from day one, which is the reason why I
19 tried to sit for the boards, I am head of the section at
20 the Pennsylvania Hospital. of surgical oncology and cancer
21 chemotherapy.

22 Q Do you in either of those capacities, and you said
23 from diagnosis to death, my question is do you get
24 involved in diagnosis?

1 A If a patient is sent to us for a diagnostic
2 problem, yes, Example, there is a chest X-ray with a
3 questionable lung lesion. So we will be involved. Or a
4 woman is sent to us with a questionable breast problem, we
5 examine her. And if a specific biopsy is required, we do
6 that.

7 Q I take it the majority, if not all your business,
8 is referral from other specialists.

9 A Or general practitioners.

10 Q Right. And they would send someone to you if they
11 felt that there was a problem that you could assist them
12 with, right?

13 A Correct.

14 Q As a surgeon?

15 A Correct. Not a hundred percent correct, because a
16 large percent of our chemotherapy practice is referred to
17 us by other surgeons.

18 Q All right, because you specialize more in dealing
19 with cancer?

20 A The chemotherapy and the cancer, correct. After
21 the surgeon may have performed a cancer operation for a
22 specific cancer, we frequently will see some of their
23 patients.

24 Q You mentioned that you could diagnose something as

1 a result of a chest X-ray or a breast cancer. What about
2 colon cancer, would you get involved in the diagnosis of
3 that?

4 A We would get involved in the diagnosis. Sometimes
5 we see a patient after the diagnosis has been established
6 by a gastroenterologist who may have scoped the patient
7 and biopsied it.

8 Q That would be the typical way in which a colon
9 cancer patient would come to you; isn't that true?

10 A No. Probably the most are referred to us by
11 general practitioners.

12 Q You say gastroenterologist. I guess what I meant
13 was most would be referred you to after some diagnosis had
14 already been made,

15 A After some problem, correct. Most are referred to
16 us either because the barium enema shows a lesion or
17 because a gastroenterologist may have seen or biopsied a
18 lesion. That's probably the majority of times we see a
19 patient.

20 Q In your report, Doctor, you don't discuss whether
21 or not you have an opinion or have been asked to express
22 an opinion **as** to the actions of Kaiser personnel, whether
23 they be doctors or nurses in adhering or failing to adhere
24 to the appropriate standard of care. And my question is

2 have you been asked to express an opinion on that?

3 A No, I have not.

4 Q Do you have an opinion on it?

5 A No. I am not involved in the standard of care.

6 Q You received the records.

7 A Yes.

8 Q But you're telling me you don't have an opinion as
9 to whether they did anything right or wrong?

10 A I'm not involved with right or wrong. Standard of
11 care to me is by a peer. And I would answer to standard
12 of care for a peer, a peer meaning a surgeon or surgical
13 oncologist. And if asked about that, I would answer, but
14 not a family doctor or a nurse or a gynecologist or a
15 radiologist. I don't consider that peers. And I don't
16 think it fair that I talk about their standard of care.

17 Q Okay.

18 A In addition, and this is more legal than otherwise,
19 I guess, I am not in the same community.

20 Q Well --

21 A When we did the lumpectomy protocol for breasts,
22 which was, you know, a very select group of women who did
23 not lose their breast, and we were doing lumpectomies, I
24 think I might have had a very tough time doing that in a
very small community hospital, because that certainly was

1 not the standard of care when we were doing this
2 investigational operation called lumpectomy. So --

3 Q When you say not in the same community, you're not
4 talking about Philadelphia versus Cleveland. You're
5 talking about the same community of surgeons versus family
6 practitioners?

7 A Basically, or what goes on in that community. If I
8 were in a small community outside Philadelphia, I probably
9 would not have been permitted even by the hospital to do
10 an investigational operation such as a lumpectomy when the
11 standard of care was a mastectomy.

12 Q I don't mean to belabor this point because I
13 understood your response. But you're not suggesting that
14 there would be a different standard of care for the
15 investigation of rectal bleeding by a surgeon versus a
16 general practitioner, are you?

17 A I'm implying that there might be for a family
18 practitioner versus a surgeon, because when somebody is
19 referred to me, I am only thinking one thing. And I don't
20 see somebody who has a common cold or all the things that
21 lead up to that point.

22 so if you come to our practice, you got
23 a very skewed thinking doctor who is thinking cancer. And
24 I'm not thinking you have a benign thing to start with;

1 I'm thinking you may have cancer.

2 Q Okay. But it's not just --

3 A I don't think the treatment of cancer in
4 Philadelphia is different than the treatment of cancer in
5 Cleveland, if that's the difference between us. No, I
6 think the treatment is the same.

7 Q I assume, Doctor, that you get somewhat frustrated
8 in having to operate on people oftentimes knowing that
9 there is nothing that can ultimately be done for them;
10 would that be a fair statement?

a1 A I am not happy when I have to open and close,
12 correct.

13 Q And you have written, have you not, that early
14 diagnosis can, in fact, make a difference when it comes to
15 ultimate survival of an individual.

16 A I don't know that I have written the word early
17 diagnosis makes a difference in survival. I don't know
18 that I have written that.

19 Q Well, would you agree with that?

20 A It gets down to when I am asked about early
21 diagnosis, what the definition of early is. And I don't
22 mean to be difficult,

23 Q It's okay.

24 a But a week from Saturday, I have been assigned a

11 1 topic to speak in Washington to a cancer group, And it's
2 the early treatment of breast cancer. Well, that's a
3 particularly difficult word for me. And it bends my nose
4 out of shape when they assign me the word early. So half
5 my talk is going to be asking the audience, going back and
6 forth, what they mean by early, because it means different
7 things to different people. And what's small is not
8 necessarily early, or what may be biologically early for
9 me, may be biologically late for you, size-wise or
10 time-wise,

11 Q Meaning what, that it's an individual call?

12 A One centimeter cancer for me might be cured. A one
13 centimeter of cancer for you might not be cured. So it's
14 not necessarily a size phenomenon. It's a biologic
15 phenomenon that decides most of this.

16 Q Would earlier be a more appropriate way to refer to
17 it, as opposed to early?

18 A I like operating on people in an effort to operate
19 for cure.

20 Q All right,

21 A If we are talking about that, I know that the
22 majority of cancers, depending on what tumor system you're
23 operating on, we don't cure.

24 Q Is that true in colon cancer?

1 a It's not necessarily true in colon cancer. Colon
2 cancer, depending, has a better prognosis, for example,
3 than lung cancer, size for size.

4 Q Sure. So would it then be fair to say in talking
5 about colon cancer, that the earlier the diagnosis, at
6 least potentially the better prospects for cure?

7 A As a general statement, but not necessarily an
8 absolute statement. There are some things I am sure
9 you're familiar with, lead time bias.

10 Q No, I am not. What's that?

11 A If two people, example, and I have some slides when
12 I give my talks about: different subjects, and I will use
13 it in Washington, called lead time bias. This is an
14 example. And it helps me to be a lawyer because I can say
15 this is a given. If the given is two people get a cancer
16 on the same day, say age forty, and both people die on the
17 same day, say age fifty, that's the given.

18 One person may be compulsive and who
19 may get lung cancer, for this example, and get a chest
20 X-ray every four months or very often. And they find this
21 small lung cancer, And they take it out. And they may
22 give new sophisticated treatment, X, Y, Z, and he appears
to survive a long period of time, let's say five years.

Q Okay.

1 A And then dies, has a recurrence and dies, has
2 what's referred to as a long free interval. We don't know
3 about any cancer until there is a measureable recurrence.
4 And the other person is negligent with their health care,
5 for whatever reason. We will find a very large cancer,
6 eight centimeters in size, We operate, take it out, has a
7 prompt recurrence and dies. It appears that if the other
8 person only came in earlier, look what we could have done,
9 because this person survived five years, two and a half
10 times longer than this person who survived two years.

11 Knowing about a cancer sooner doesn't
12 necessarily mean you're going to survive longer, because
13 once the cancer cell is growing somewhere that is not
14 within the confines of a surgical cure, then you're not
15 going to be cured.

16 Q You mean once it's metastasized?

17 A It depends on the type of metastasis. You can have
18 a local regional metastasis, maybe you're biologically
19 fortunate. I am sure you have been involved a lot with
20 breast cancer. And the more nodes involved, the less well
21 you're going to do, but not everybody dies, nor does
22 everybody live who has small cancers and negative nodes.

23 Q Just let me regress for one moment.

24 A You didn't mean you yourself regress,

1 Q Perhaps. I assume, Doctor, you're also not going'
2 to render an opinion regarding the conduct of Mrs. Vernon;
3 would that be accurate?

4 A I don't know what you mean by her conduct. I
5 didn't know she had any misconduct.

6 Q Well, I don't think she did, but certainly those
7 allegations may be made by the defendants in this case.
8 And I am just seeking to determine whether or not --

9 a I am not involved in her either.

10 Q I notice that they didn't send you her depositions or
11 testimony. You don't know what she had to say, what she
12 did or didn't do?

13 A Not important in my thought process. And I have no
14 hostility nor liking or any other feelings about her,
15 except that she is young. And it's sad when a young,
16 vital, healthy person gets an adult malignancy and doesn't
17 do well.

18 Q In your report, Doctor, do you have a copy of it
19 there?

20 A Yes.

21 Q In really the second to the last paragraph, which
22 is where you discuss your opinion,

23 A On page two?

24 O Yes.

1 A I am sorry, "Waving reviewed," okay.

2 Q You state that, "I assume there is an alleged delay
3 in diagnosis of approximately twelve months," Were you
4 asked to assume that?

5 A No.

6 Q Why did you make that assumption?

7 A Because it seems to me somewhere I said that in
8 April of '87 she had a history of rectal bleeding, And it
9 was about a year later that she had a cancer detected,

10 Q Doctor, would it matter if it was discovered in
11 January of '86, for purposes of your opinion?

12 A In January of '86?

13 Q Yes, sir,

14 A No.

15 Q Why not?

16 A Because having understood cellular kinetics with
17 tumor growth and doubling, no.

18 Q With what, double, I am sorry?

19 a Cellular kinetics with tumor cells and doublings,
20 the answer is no.

21 Q Is it your opinion that doubling of tumor cells is
22 constant?

23 A No.

24 Q You refer to your slides that you use in discussing

1 lead time bias. Are those the slides referred to in this
2 paragraph?

3 A I didn't write lead time bias.

4 Q I know that, but you referred to wanting to discuss
5 slides. I am asking if those are the same slides.

6 a What one of the slides would be an example, as I
7 gave. Yes, I have a slide like that. But I am invited
8 frequently to speak on breast and lung and sometimes
9 colon, And so I have carrousels with those lectures on
10 there.

11 Q Did you make copies of those slides for me, Doctor?

12 A No.

13 Mai. ROBERTSON: He is not going to use
14 any slides during his testimony,

15 TWE WITNESS: Can we go off the record?

16 MR. KAMPINSKI: If we are going to
17 discuss this, I prefer we stay on,

18 THE WITNESS: I don't make copies of my
19 slides for anybody. And I would be happy to let
20 you hand copy them.

21 BY MR. KAMPINSKI:

22 Q I don't know that I would be competent to do that,
23 Doctor.

24 A Or photograph them off a wall. But I have loaned

1 slides on similar occasions, only to go to a meeting and
2 see some of my own slides on a wall, And so after that, I
3 stopped allowing that.

a O That's fine.

5 A I won't even let anybody borrow my slides to give a
6 talk because the slides sometimes come back in a different
7 order in the carrousel. When you are accustomed to giving
8 a talk in a certain order, and it's in a different order,
9 it drives me crazy.

10 Q Do you intend to draw or depict anything that is
11 set forth in your slides?

12 A I hope so, if I am asked questions.

13 a And these would be, I take it, the same thing that
14 is set forth on your slides?

15 A Some things. My slide talk is usually well over an
16 hour of carrousel slides.

17 Q What do you intend to depict, Doctor, in terms of
18 reflecting that it wouldn't have mattered if Mrs. Vernon's
19 cancer was diagnosed sooner?

20 A Basically how cancers grow,

21 Q Could you do that for me?

22 a I have to be asked a specific question, rather than
23 ad-lib it,

24 Q Well, I am asking, Doctor, what it is you intend to

1 draw. In other words, you understand I am placed at a
2 serious disadvantage.

3 A I would be happy to let you look at all my slides
4 before you leave.

5 Q That doesn't do me any good, Doctor, because I am
6 not a physician, as you are.

7 A I am not your adversary either.

8 Q Okay.

9 A But I plan to get up and talk about tumor growth,
10 how they double, how they spread, when they get into
11 circulation.

12 Q Now do they double and grow and spread and get into
13 the circulation, Doctor?

14 A Tumors, to go from say one cell, double and become
15 two cells or go from one million cells and become two
16 million cells, the length of time it takes to double the
17 volume of cells is considered the doubling time.

18 Q Okay.

19 A There is a range of doubling. There is an average
20 doubling time for colon cancers.

21 Q Go ahead. I am listening.

22 a It takes, as an example, thirty doublings to be one
23 centimeter in size. And it takes a specific length of
24 time to go through thirty doublings. And understanding

1 that, the cellular kinetics and using doubling time, which
2 I think is the best available method to estimate the
3 duration of a cancer, you can calculate back.

4 Q Well, I thought you indicated to me a few moments
5 ago that it's not a linear --

6 A You asked if they always double at the same rate,

7 Q Okay.

8 A And I said no. And I believe that.

9 Q Okay.

10 A I believe that tumor growth is probably constantly
11 decelerating. And there are lots of different equations.
12 I am not superskilled in some of those mathematic
13 equations, but there is Gompertzian tumor growth, which
14 has --

15 Q Why don't you spell that for the court reporter?

16 A G-O-M-P-E-R-T-Z-I-A-N.

17 Q Would you explain that for me?

18 A It's a curve that starts out relatively fast, then
19 goes on a straight line like linear or exponential, and
20 then gets very slow again. Much of the clinical course of
21 a cancer is very similar to exponential, although it's, in
22 my opinion, decelerating, always slowing down. And I
23 believe that even though a cancer appears to be exploding
24 at the end of the natural history for some patients, just

1 growing so rapidly, it's probably growing its slowest at
2 that point,

3 Q Do you agree with the Gompertzian theory?

4 A Do I agree?

5 Q Yes.

6 A I currently believe that tumor growth is constantly
7 decelerating.

8 P So you don't agree?

9 a I don't agree that it's a hundred percent
10 Gompertzian. I am going to the cell kinetics meeting next
11 week. And I might get educated a little differently. But
12 right now I really believe, as do most people, that tumor
13 growth is decelerating.

14 Q Don't most people agree with the Gompertzian tumor
15 growth theory?

16 A Most people probably think it's Gompertzian right
17 now, but the people who are out on the leading edge, the
18 people in the Cell Kinetics Society who are doing a lot of
19 this bench work, probably believe that it's decelerating.

20 Q If it were Gompertzian, is there any way that you
21 can determine when it rapidly increases, in terms of
22 fixing a point in time?

23 A No, that's why I refer to average, or if we can
24 measure two points in time, we can say what the average is

1 for those two points in time. But on any given day, I
2 think it's growing at a decelerating rate.

3 Q I understand what you said. But if you're not
4 correct, if the Gompertzian theory is correct, Doctor,
5 then you wouldn't be able to take any particular given day
6 and indicate that there was doubling going on on that day,
7 as opposed to saying there was a certain period of time or
8 a day where it increased dramatically.

9 A I don't think there are any superdramatic increases
10 in that sense, using the Gompertzian curve. I think it's
11 a sigma shape curve, Art is not my strong suit, but there
12 is a long point in that curve, the majority of that curve
13 which seems to be exponential.

14 Q There is?

15 A Linear.

16 Q I have seen the curve. And it doesn't appear
17 linear at all, Doctor.

18 a Well, for a part of that curve on the upsweep, it
19 is a straight linear curve to me.

20 Q Would that, if it were an accurate depiction of
21 cellular kinetics of a tumor, detract from your opinion?

22 A No, because I still have to go by an average
23 doubling time.

24 Q I see,

1 A Otherwise, I would just sit here and be emotional
2 and say last Tuesday it wasn't there, and on Wednesday it
3 was.

4 Q Or you would say you just don't know, because of
5 that.

6 A Or I would say I don't know, which would be a more
7 honest answer than saying it wasn't there then, but it's
8 there then in two fixed periods of time, without having to
9 try to give you some scientific explanation how I arrived
10 at that.

11 Q Is the reason there are different theories because
12 nobody has been able to actually visualize this phenomenon
13 in a person?

14 A In humans, it's very difficult. Auschwitz is no
15 longer permitted, and a few other things like that. There
16 are lot of animal studies, and constantly coming up with
17 formula as to what they believe. And I guess Phil Skean,
18 S-K-E-E -- I don't know, I don't want to a hundred percent
19 risk spelling Phil Skean's name -- has written extensively
20 about those various formulas. And he is spending his
21 lifetime doing that.

22 Q I am going to go back again, Doctor, because I am
23 afraid that I left another area. We will come back to
24 this in a minute.

14
1 A I will stay wherever you want,

2 Q Lead time bias, you started explaining it to me
3 with the two people. I think we got off on another
4 tangent. I am not sure I understand what it is.

5 A I thought I explained that very handsomely.

6 Q Well, it must be my total inability to grasp the
7 handsome explanation, and I apologize.

8 A Accepted. As I gave that example, one person, they
9 both got cancer on the same day and died the same day ten
10 years later. One had a diagnosis of say one centimeter
11 cancer in that example, and lived five years, had a
12 recurrence, and died. The other had had the primary
13 diagnosis at a large size tumor. I think I said eight
14 centimeters, had a short survival time, and then died.
15 And I said without, or I hope I said that without knowing
16 when both got their cancer, it appeared superficially that
17 if the second patient, the one who came in with a large
18 cancer, had come in when the first person came in, look
19 what we could have done for that survival. And it
20 appeared that the first person who came in early lived two
21 and a half years longer than the person who came in late.
22 But in reality, all we did was know about the cancer two
23 and a half years sooner. **And** they didn't live one day
24 longer. Now, perhaps it was a difference in local

1 control, depending on what tumor there was.

2 Q So you're saying that with certain types of, I
3 assume you're saying that with certain types of tumors, it
4 just doesn't matter when you find it, because of this lead
5 time bias?

6 A It doesn't matter when you find it if the cancer
7 already has spread beyond the confines of a cure. It
8 matters when you find it, if it has not spread.

9 Q Now --

10 A We are both in agreement on that,

11 Q Now, let's get back to the doubling time different
12 theories, Now that doesn't tell you, does it, Doctor, at
13 what point a tumor metastasizes?

14 A Just as such, absolutely not. You're correct.

15 Q The conclusion you're reaching, I take it, is from
16 some estimate that you're making from the size of the
17 lesion found in the liver; is that correct?

18 A And the lymph node, yes, correct.

19 Q And you're extrapolating from the measurement of
20 that, how long it had been there; is that what you're
21 saying?

22 A Using an average doubling time, correct.

23 Q Could you tell me, Doctor, what, and since we are
24 using averages, and that would be referred to as what,

1 median?

2 A There is a difference between average and median,

3 Q What's the difference?

4 a Are you testing me or are you asking me?

5 Q No. If there is going to be a difference in
6 nomenclature and terminology, I need to know that so we
7 are never confused at a later point,

8 A I use the word average doubling time.

9 Q Right.

10 A And average means the average. You add them all up
11 and you divide it by the number of examples. And that
12 comes out with the average. The median example for death,
13 it's one half the people have died by. And if there are
14 eleven people who die, when the sixth person dies, that is
15 the median.

16 Q Why would that be different than the average?

17 A It can make a big difference, depending on the
18 number in a series or how it comes out. Example, you can
19 have most people die. We will make it a short example.

20 Q All right.

21 A In one month, and one person live thirty years.
22 And you average that up. We will make it a series of
23 eleven. Average that up, and that is going to make one
24 hell of an average. You take the median of those ten

1 people who died in one month and one person who lived
2 thirty years, and the median comes out one month.

3 Q So which, if you got a large study, under what
4 circumstances would you use?

5 A In big numbers, it doesn't make very much
6 difference.

7 Q All right. Would you tell me, Doctor, what the
8 median survival time is for a person with a lesion in
9 their liver?

10 A Give me some more information,

11 Q I don't know that I have more. Didn't you do a
12 study on that, Doctor?

13 A Sure, but you didn't tell me what cancer was in the
14 liver. You didn't say if it was lung cancer or colon
15 cancer.

16 Q Colon cancer.

17 a Our review here at the Pennsylvania Hospital that
18 we did some time ago, and we did it for a ten-year period,
19 the median survival I think was a hundred seventy-eight
20 days.

21 Q Meaning that once a person got it in the liver,
22 well, actually it was from the point of diagnosis that you
23 did the study, correct?

24 A That's the only way we could tell you the median,

1 Q Sure. And you concluded that the median survival
2 time from that point was a hundred seventy-eight days.
3 How long has Mrs. Vernon survived since her diagnosis?

4 A I haven't added it up, but it's a lot longer than a
5 hundred seventy-eight days.

6 Q Is she just the exception that proves the rule, one
7 of the ones above the median?

8 A I think it probably means several things. It
9 probably means that she probably has a slower growing
10 tumor than somebody who has a fast growing tumor, or it
11 could mean that her cancer was small that was in there and
12 took a while to get as big as, say, the median amount of
13 metastasis that we recognized in that study. In other
14 words, if her cancer is thirty doublings and the median
15 metastasis we recognize might have been thirty-five
16 doublings, she would have five doublings to go until she
17 reached that size.

18 Q Could you give me some references, Doctor, to the
19 validity of this doubling time theory?

20 A Gaulliano.

21 Q Gaulliano, spell that for me.

22 A G-A-U-L-L-I-A-N-O, Pietro Gaulliano.

23 Q Where would I find this?

24 A He had been the previous principal clinical

1 investigator at the National Cancer Institute. And I am
2 sure he has published in Cancer and many other journals.

3 Q I mean is there a specific article or text or
4 something where I can find something espousing this
5 theory?

6 A He has lots of articles published. I just can't,
7 on my fingertips -- I am good, but not that good.

8 Q Anybody else?

9 A John Spratt.

10 Q That's the individual you referred to before.

11 A I don't know if I did or not. John Spratt is in
12 Louisville, S-P-R-A-T-T. And it might be junior. There
13 are two John Spratts. One is the son. And I can't think
14 of his middle name. He has a different middle name.

15 Q Okay.

16 A The junior is the oldest, I think,

17 Q Anybody else?

18 A John Myers, Phil Skean.

19 Q I am sorry, John Myers, Phil Skean?

20 A Phil Skean.

21 Q How do you spell that?

22 A I am not very good at spelling. Math and spelling
23 are not my strong suits.

24 Q Are any of these recent articles?

1 A I couldn't give you dates on them, but --

2 Q Is there anything published in the last five years
3 that you are aware of that has espoused this theory?

4 a About tumor growth and doubling?

5 Q Yes.

6 A Lots of things.

7 Q What?

8 A I know you want me to spit these things out and
9 give you the reference, but there is a medical oncology
10 text with Calebrese, Shine and I think it's Rosen,
11 published in '85, which lists tables of the doubling time.

12 Q Any others?

13 A When I am asked a specific question, I am not
14 really set up for it. There are lots of others. I don't
15 have them fast at the fingertips for you.

16 Q Okay.

17 A Walter Bower is a pathologist out of St. Louis who
18 published an article, not necessarily on colon cancer, but
19 on lymph node metastasis, calculating when they arose in
20 the lymph node, using Gompertzian tumor growth.

21 Q Which is different than doubling time?

22 A No, he used doubling time, but used Gompertzian
23 tumor growth to go through it. And you have to have a
24 time.

1 Q Have you written anything on doubling time, Doctor?

2 A No, I have not. Edwin Fisher has written about it.

3 Q He has contributed to some of these studies that
4 you have.

5 A Yes, You did very well for not having a CV.

6 Q I try,

7 A Or you read very fast.

8 Q How long was there any cancer in Mrs. Vernon's
9 liver prior to April of 1988?

10 A Nobody can give you the exact date when it arose
If there, If we use an average doubling time, we can come up
12 with some calculations.

13 Q Have you done that?

14 A Yes. I have to get it out. I think she had, well,
15 she had measured by the pathologist essentially about a
16 half centimeter of cancer that was removed **from** each one.
17 And I can't be sure if the entire lesion was removed or
18 just biopsied, but assuming each of those to be five
19 millimeters or greater, but five millimeters.

20 Q Is that a half a centimeter?

21 A Half a centimeter. At an average doubling time,
22 and again I use the average doubling time of a hundred
23 days, then it's been there for a very long time,
24 twenty-seven hundred days.

1 Q Twenty-seven hundred?

2 A Yes.

3 Q So she survived, let's see, you said the average
4 was a hundred seventy-eight or the median was a hundred
5 seventy-eight?

6 A I said the average at the time of diagnosis we made
7 was a hundred seventy-eight. I didn't say in that
8 article, at least I don't think we said in that article
9 when those tumors arose. We just listed how long they
10 survive from the time of diagnosis.

11 Q And it's been almost a year since diagnosis. So
12 that's another, let's say, three hundred days. So how big
13 should it be now?

14 A How big should it be now?

15 Q Yes.

16 A If she survived three hundred days and there was no
17 effect by the chemotherapy, and we go through three
18 doublings, it should be well over -- well, we don't
19 have -- I have to back up, as you do. We don't have a
20 specific size for the one lesion that was not removed.
21 But somewhere we have a specific size where it's measured
22 to another measurement. If we went three hundred days
23 essentially, if the doubling time is three hundred, went
24 three hundred days with measurements at the start of the

1 three hundred and measurements at the end **of** the three
2 hundred, it went through three doublings. So if it was
3 one centimeter, it should have been two centimeters. If
4 it was three centimeters at the start of that three
5 hundred days, it should be six centimeters.

6 Q You're talking about the one remaining now, right?

7 A No, I am talking about -- you said to me, I think,
8 she lived an extra three hundred days beyond that.

9 Q Yes.

10 A How big should it be during that three hundred
11 days? And I am answering that using an average doubling
12 time **of** a hundred days, it went through three doublings.
13 And the example I am saying is if it was three centimeters
14 at the start of that three hundred days, it should be six
15 centimeters. **If** it's two centimeters at the start of that
16 three hundred days, having gone through three dsublins,
17 it would be four centimeters in size.

18 Q **If** it's doubled three times, why would that be
19 true, Doctor?

20 A Because it takes three doublings to do that.

21 Q Three doublings for it to double?

22 A To go from one centimeter to two centimeters.

23 Q Takes how many doublings?

24 A Three doublings. And I did say, I know it's

1 confusing, and I did say each doubling doubles the volume
2 of cells, And a volume is just another one dimension.
3 It's basically a three dimensional act. So to go from a
4 one by one by one to a two by two by two, which I'm
5 referring to as one centimeter, and I assume you're
6 thinking of a one centimeter going to two centimeters, it
7 takes three doublings to do that act,

8 Q So if we extended this doubling time theory
9 backwards, you could tell me the approximate date at which
10 point it had metastasized to her liver, could you not?

11 A Some approximate.

12 Q Why don't you do that?

13 A I did that.

14 Q I am sorry. You said twenty-seven hundred days.

15 a I don't have the absolute sizes. I may. I can't
16 tell from the operative note whether those metastases
17 which he said were biopsied were excised as the biopsy,
18 because each of the specimens have two measurements,

19 Q That's when at a minimum it would have metastasized
20 to the liver?

21 a At an average doubling time of twenty-seven hundred
22 days.

23 Q You're saying an average doubling time of a hundred
24 days?

1 A Yes, a hundred days, I apologize.

2 Q That's okay.

3 A Right. I did say twenty-seven hundred.

4 Q Where did you get the hundred day doubling time
5 figure, Doctor?

6 A That's fairly much of the standard reported
7 doubling time for colon cancer. Some are reported a
8 little longer. The Swedes report a longer doubling time.

9 Q And could you cite me the somebody who reports a
10 hundred day doubling time?

11 A I think that reference I gave for the medical
12 oncology book might have been ninety-six or ninety-three
13 days. But it was approximately a hundred days is what
14 they listed also.

15 Q You were provided with the slides, the pathology
16 slide, correct?

17 A Correct.

18 Q Is there anything in those slides that either adds
19 or detracts from your opinion?

20 A Adds or detracts from?

21 Q Your opinion,

22 A No, except that we measured the tumor essentially
23 and the lymph node involvement.

24 Q There were two nodes?

1 A Measured the tumor that we saw in the lymph node,
2 which came out about a half centimeter also.

3 Q And what does that tell you, Doctor?

4 A Everything that we just said before for the liver
5 pertains to how long it went to grow that long in the
6 lymph node.

7 Q Is it --

8 A It went through the same number of doublings in the
9 lymph node to reach one-half centimeter as it does
10 somewhere else to reach one-half centimeter. The number
11 of doublings is fairly constant.

12 Q How did it travel from the colon to the liver,
13 through the lymph nodes, through the blood, how?

14 A It's hematogenous spread.

15 Q What does that mean?

16 A Blood. **Now**, it's possible it could have been in
17 the lymph and then mixed in the blood where the two join,
18 and get back to the liver that way.

19 Q Would it have gone to the lymph before it got to
20 the liver or at the same time or do you know?

21 A Since these tumors are essentially the same size,
22 they embolized and grew at approximately the same time.

23 Q Would you have -- maybe this isn't a fair question
24 and if it isn't, tell me. Would you have anticipated any

1 indications, symptoms at any point in time in this
2 process?

3 A I don't understand that question. You have to help
4 me on that question.

5 Q See, I told you it may not be fair,

6 A It was very fair. I am just a surgeon. I didn't
7 understand the question.

8 O Once cancer has metastasized to the liver, does it
9 generally result in any symptomatology to the patient?

10 a It will at some point,

11 Q Okay.

12 a But it's not until there's very extensive liver
13 replacement.

14 Q I don't understand your answer. What do you mean
15 extensive. How extensive does it have to be?

16 A It takes a great deal of replacement by tumor just
17 to alter the liver function tests. You can easily remove
18 half the liver and not notice any alteration on the liver
19 function.

20 e I guess maybe that's not what I'm asking. Maybe it
21 is. When you say alteration of liver function, are you
22 talking about something that would be noticed by the
23 patient?

24 A Perhaps. They might have some yellowing of their

1 eyes, or depending on the extent of the tumor, some other
2 symptoms, may have pain or discomfort or especially if the
3 tumor bled either into the tumor or into the abdomen, they
4 could have symptoms. But the majority of people, unless
5 they get symptoms from size of the tumor, size
6 encroachment, usually have no symptoms relative to the
7 metastasis in the liver, unless it obstructs a major duct
8 or unless there is massive, massive liver replacement by
9 tumor.

10 Q So you would not have expected her to have any
11 symptomatology then?

12 a No. It's often a surprise to the operating
13 surgeon, even if he does a liver scan and finds
14 metastasis, it's often a surprise.

15 Q Is rectal bleeding a general good prognostic sign,
16 as opposed to obstruction, for example?

17 A You mean bleeding from a cancer?

18 Q Yes.

19 a As opposed to one of the others?

20 Q Yes.

21 A Obstruction in general is not a good prognostic
22 sign. Left colon cancer obstruction in the National
23 Surgical Adjuvant Breast Project was not a serious
24 prognostic sign compared to right colon obstruction, which

1 Is a very bad prognostic sign. But rectal bleeding is not
2 necessarily a prognostic sign, maybe an indicator, but not
3 necessarily a prognostic sign that I'm very familiar with
4 as to good or bad. It sometimes is involved with
5 something called symptom bias, where a tumor may be
6 discovered smaller because of the patient's symptom leads
7 you to find something.

8 Q So it could be good in the sense of it providing a
9 clue to someone who, assuming they were looking, would be
10 able to find it sooner?

11 A I understand the question. The answer is yes.

12 Q Is age a factor, in your opinion, in terms of
13 prognosis?

14 A As an absolute, probably not. I have the feeling
15 there is an occasional article. And we have had some
16 young patients in our practice who have adult cancers and
17 do not do as well. But I can't give you out of our
18 practice, certainly, a statistic that they do very badly.
19 The few very young people I have had in the last thirty
20 years have not survived. But there aren't very many
21 articles, and it is very uncommon, you know, on the point
22 of maybe one percent or at the age group of this
23 unfortunate young lady.

24 Q So you don't believe that it is necessarily?

18
1 a I can't absolutely say that it is. I have the
2 feeling this is emotional response rather than scientific
3 response. And there is a big difference between the two.
4 It is my impression they do less well. But would I bet
5 the ranch on that, no, I won't bet the ranch on that
6 because I prefer having a scientific answer. There is
7 some literature that implies that some of the younger
8 people don't do as well. I can't give you hundreds and
9 hundreds of patients in series, which is what, from my
10 absolute opinion, it would take, compared to the absolute
11 hundreds and hundreds. And it's tough to get hundreds and
12 hundreds of twenty year olds who have colon cancer to
13 compare to hundreds and hundreds of sixty year olds with
14 colon cancer,

15 Q That's true. You have to factor in staging too.

16 A Right. You're absolutely right. So a lot of that
17 is my personal feeling rather than my science,

18 Q If a tumor is not well-differentiated or poorly
19 differentiated, does that make a difference in terms of
20 prognosis?

21 A To me it does, yes.

22 Q What's the difference?

23 A The more poorly differentiated, usually the more
24 aggressive. The more well-differentiated, the less

1 aggressive.

2 Q When you say aggressive, what do you mean by
3 aggressive?

4 A metastasizing.

5 Q And in your report, you put down poorly
6 differentiated, Is that something that you observed
7 yourself or that you took from the pathology report or
8 which?

9 A Both.

10 Q Well, it doesn't say poorly differentiated, does
11 it? It says moderate to poor in the pathology report.

12 A Okay.

13 Q Is that --

14 A Moderate to poorly, It was more on the poorly
15 differentiated. I thought it was grade three on a one to
16 three grading system.

17 Q Your observations?

18 A Yes. I am not a pathologist. And I don't testify
19 as to standard of care, but I thought it was more poorly
20 differentiated than well-differentiated.

21 Q Well, you said a couple things there, Doctor. On
22 the one hand, you said you're not a pathologist; on the
23 other hand, you're telling me what you thought it was from
24 a pathological standpoint.

1 A Right.

2 Q Would you refer to the pathologist's finding?

3 A I am very happy with his finding. I am not
4 challenging it. I am not disagreeing with it.

5 Q My only question is your language is different than
6 his.

7 A Okay.

8 Q You said poorly. You didn't say moderate to poor.
9 You said poor. Does that make a difference?

10 A Not to me.

11 Q It doesn't matter to you?

12 A We are both on the similar side of the coin.

13 Q Does that make a difference in terms of when you
14 diagnose the tumor? I mean is it more critical to
15 diagnose a poorly differentiated tumor, as opposed to a
16 good or well-differentiated tumor?

17 MR. ROBERTSON: Object to the question,
18 because I don't understand what the word critical
19 refers to.

20 BY MR. KAMPINSKI:

21 Q Critical in terms of curing the patient.

22 A I think I understand the question.

23 Q I am sure you do,

24 A And I think you're asking, depending on the cell

1 type, degree of malignancy, poorly differentiated,
2 well-differentiated, so on, is it critical to find it at a
3 different period of time, so that the chance for cure is
4 better compared to a well-differentiated. I think that's
5 the question.

6 Q That's fine.

7 A To help explain it, which may not satisfy you,
8 there is a window, a narrow window in which these cancers
9 are cured, that window being the time where you may find
10 it and it has not yet metastasized. That's the only time
11 it really counts. And the window may be more narrow and
12 probably is a little more narrow in the poorly
13 differentiated or high grade cancer or more aggressive
14 cancer, I am trying to all say the same words, than one
15 that is well-differentiated and has a little wider window,
16 opportunity for cure, But I believe that opportunity is
17 very early on in the natural history of that tumor, and
18 that we tend to think and mistake small. cancers for early.
19 It just means that they are small,

20 The clinical portion, when we can find
21 something in any of the cancers, is the shortest period in
22 the natural history of that cancer. And much of it has
23 been decided, spread or no spread, biologically, fortunate
24 or not fortunate, long before you have a clinical cancer.

1 So that window opens and shuts way back at an earlier time
2 for most people before it's a detectable cancer.

3 Q You're saying, if I understand correctly, that she
4 was a Dukes-D as far back as twenty-seven hundred days
5 prior to the diagnosis?

6 A Correct. If the average doubling time is a hundred
7 days, that's the example.

8 Q Had it just spread to the lymph nodes and not to
9 the liver, does that make a difference in terms of
10 potential of cure?

11 A Had it not spread to the liver and it's removed and
12 there is no other spread?

13 Q Yes.

14 A And it's all out. Then she is cured.

15 Q Then in other words, you can in fact treat
16 involvement of two lymph nodes.

17 A You can treat involvement of ten lymph nodes if you
18 take them all out. I may have mumbled, if I didn't
19 earlier on when we were talking about breast, there are
20 some with multiple lymph nodes who appear to survive and
21 those with negative,

22 Q You did --

23 A And no matter how good your prognosis is, some are
24 going die. No matter how bad it is, some seem to live.

1 O You also put down that the tumor was mucinous in
2 your report.

3 A Yes.

4 Q There is a reference in the path report to that
5 word. But I don't know that it's necessarily in reference
6 to the entire tumor, if you could help me out on that,
7 Doctor.

8 A I referred to it because he referred to it as pools
9 of mucin suspicious for metastatic mucin producing
10 carcinoma in the liver.

11 Q And --

12 A And that I assume she does not have two separate
13 tumors. And I don't believe she has two separate cancers..
14 And mucin producing cancers spread to her liver. And it's
15 different than the colon cancer.

16 Q You just lost me, Doctor.

17 A Okay.

18 Q There is a number of specimens.

19 A Correct.

20 Q You looked at them.

21 A Yes. Page one of the pathology report, where it
22 says one at the bottom of the first paragraph, left lobe
23 above liver lesion focal pools of mucin suspicious for
24 metastatic mucin producing cancer. And number two in the

1 diagnosis, it says mucinous deposit in liver.

2 Q Number two in the what, diagnosis?

3 A Diagnosis.

4 O I am sorry. Go ahead.

5 A I don't believe, I do not believe that she has a
6 different cancer other than the colon cancer that spread
7 to her liver- I think they are one and the same. And so
8 it does produce some mucus. It's a mucin producing
9 cancer.

10 Q Is that important in terms of prognosis or
11 diagnosis?

12 A Not for diagnosis.

13 Q How about prognosis?

14 A Mucin producing may be, and depending on the type,
15 worse. If it's a signet cell mucin producer, it's very
16 bad. This was not.

17 Q That means what, that it wasn't very bad?

18 A This lady, it doesn't make any difference whether I
19 call it good or bad, she will die from her cancer, so it's
20 bad.

21 Q But it doesn't --

22 A A mucin producing cancer, as such, especially if it
23 produces large amounts of mucin, it is not a good
24 prognostic sign.

1 Q Does it produce large amounts?

2 A It produced enough for the pathologist to recognize
3 it in the liver.

4 Q If you look on page two, Doctor, under microscopic
5 description.

6 A Yes.

7 Q And there is nothing mentioned about mucin in
8 specimen one, right?

9 A Okay.

10 Q Number two, there was a nodule showing pools of
11 mucin, right?

12 A Yes.

13 Q But they failed to show neoplastic cells, right?

14 A Yes. Go ahead.

15 Q So was that even a cancerous specimen?

16 A I believe they didn't demonstrate the cancer in
17 whatever they looked at under the microscope, but the
18 liver in and of itself doesn't produce mucin, If you ask
19 my opinion, she had a cancer that produced that mucin. I
20 understand we are into some semantics. And if you want me
21 to say there is no cancer there, I will say there is no
22 cancer there,

23 Q I don't know if it is or isn't. He goes on to say,
24 "It may represent an old bowel duct cyst."

1 A Okay.

2 Q I read that correctly, didn't I, Doctor?

3 a Correct.

4 Q So that can you draw the conclusion from that that
5 it's mucin producing cancer from that specimen?

6 A I can't absolutely say no. This is one I would
7 probably put the ranch on, that it's mucin producing. But
8 I can't say that,

9 Q Are there ranches in Philadelphia?

10 A As a matter of fact, there is one ranch I wouldn't
11 mind owning.

12 (Discussion off the record.)

13 BY MR. KAMPINSKI;

14 Q So getting back to an earlier question I had when
15 you assumed the delay in diagnosis was approximately
16 twelve months, that really had no significance then,
17 right?

18 a No. I just assumed that by reading this history.
19 I was not given any numbers,

20 Q Do cells, tumor cells, and I apologize, Doctor, if
21 we have gone through this and this is repititious, but do
22 they always continue to divide or do they, in fact, stop
23 dividing, and can some actually stop forever dividing?

24 A The answer is some cancer cells never have the

1 potential to divide. Many cancer cells die. A vast
2 number of cancer cells die right in the cancer, in any
3 given cancer. In this tumor, it was listed, as I
4 remember, there was a lot of necrosis in the tumor. And a
5 lot of cancer cells are shed into the circulation and are
6 destroyed by the body's defense mechanisms. I don't know
7 if that --

8 Q Well --

9 A -- answers the question you're asking.

10 Q Partially. Do some of them stop dividing for some
11 portion of time?

12 A Some of them never have the ability to divide, Not
13 all cancer cells in the growth process divide. Many of
14 them never divide, because they die. So the answer is no,
15 not all cancer cells always divide or ever divide.

16 Q And they go through different generations, don't
17 they?

18 A I don't know what you mean by generations. Every
19 time they divide, it's a generation.

20 Q It's a new generation.

21 A Yes.

22 Q And can the new generation, for example, stop
23 dividing for period of time?

24 A Some of those may never divide. Some of them die,

1 Some of them are shed into the circulation.

2 Q Can all of them stop dividing, and then later on
3 start dividing again?

4 A It's not a very simple statement, But the answer
5 is many of them stop dividing. Some may have the
6 potential to divide again at another time, But the
7 doubling time is when the doubling, the volume of cells
8 have doubled regardless of which cells may have doubled.
9 And it's complex because some cells are dying off and some
10 cells are being shed into the circulation.

11 Q Yes.

12 A But when you doubled that volume of cells, that
13 theoretically is the doubling time.

14 Q But if some of them, Doctor, stop dividing, and
15 let's say you have got compartment "A" of dividing cells,
16 compartment "B" of temporarily non-divided cells that can
17 convert to "A," "C" non-dividing cells that have lost
18 their ability to divide forever, I mean that would be
19 somewhat of a fair analysis of the tumor, would it not?

20 A In any given tumor nodule, what you described was
21 described before by Skipper, And he listed an "A," a "B"
22 and a "C." "A" are people who are active in the cell
23 cycle. They are constantly dividing. Now we are not
24 talking -- we didn't list any of the people who are dying

1 in this tumor nodule. You're referring to an entire tumor
2 nodule.

3 Q Right.

4 A "B" are people not dividing, They are in G0 at the
5 present time. And "C" may be mature cells or like me, who
6 no longer have the ability to reproduce. And so they
7 don't decide whether you live or die as such. And they no
8 longer can divide. Depending on the size of that tumor
9 nodule, these compartments vary in size. When you give
10 drugs, as outlined by Skipper, then you may kill this
11 compartment off, Compartment "A" cells come out of G0.
12 And then you have a high labeling index and so on.

13 Q So there is also cell loss from the tumor volume as
14 well; is that right?

15 A I said cell death and cells being shed.

16 Q Doctor, follow with me for a moment, just so I can
17 try to understand, If this is all going on in the tumor,
18 then how is it that you can ever postulate the existence
19 of an average doubling time, because you can't tell, can
20 you, on any given tumor, which cells are dying off, which
21 are being shed, which are temporarily not doubling, can
22 you, sir?

23 a Can I looking at an X-ray, no. Now are some of
24 these things are arrived at?

1 O That's a good question. Now are they arrived at?

2 A Some of the things are arrived at, such as on a
3 mammogram. Some of this has been reported by Spratt out
4 of the Louisville Breast Cancer Detection and
5 Demonstration Project, where women may have serial
6 mammograms. And they then on these serial mammograms have
7 measured this tumor growth over periods of time.

8 O That's a detectable tumor already that's going from
9 a centimeter to two centimeters, right, maybe a million to
10 a billion cells?

11 A You're right.

12 Q Has anybody ever demonstrated going from one cell
13 to detectable?

14 A I don't know that it has ever been done in humans,

15 O I see.

16 a I think they can only do it in experimental
17 animals.

18 Q Then this is all theoretical, isn't it, Doctor?

19 A It's theoretical in humans.

20 Q Then it's theoretical in Agnes Vernon; she is
21 human?

22 A She is human. It's theoretical how fast they may
23 be growing and non-growing at a non-detectable time. I
24 can emotionally make up a number, but I am trying to be as

1 scientific as possible,

2 Q Well, you told me before that in an attempt to be
3 scientific, what you wanted was demonstrable scientific
4 evidence. You wanted this large series of young people
5 you could compare to old people. Doctor, you cannot
6 scientifically demonstrate the theory of doubling time in
7 humans, can you, sir, or no one can?

8 A You have to back up again, You're saying we can't
9 scientifically demonstrate it in non-measureable tumor,
10 non-measureable tumor.

11 Q That's correct.

12 A Don't tell me we can't do it in non-measureable
13 tumors.

14 Q I didn't disagree.

15 A You did.

16 Q I apologize. You can't do it in a non-measureable
17 tumor.

18 A That's right.

19 Q That would be up to what point, how big?

20 a It depends when you first measure it. On a chest
21 X-ray, you might first measure it, if you're fortunate, at
22 a centimeter.

23 Q Let's talk about the colon cancer in the liver.

24 a It's unusual to detect it on any of the scans less

1 than a centimeter.

2 Q So if you tell me that doubling times from a
3 centimeter to two centimeters can't be measured, and then
4 you arrive at a hundred days, for example, for that
5 doubling time?

6 a As the average,

7 Q So what you have done then is postulated from that
8 to work backwards to say, ah-hah, therefore, it must have
9 taken a hundred days for doubling time prior to this point
10 in time.

11 A I have given you an average. I started out this
12 very conversation by saying I believe tumor growth is
13 decelerating constantly. I have never tried to say
14 otherwise.

15 Q I heard you also say you may be proven wrong at
16 your meeting next week.

17 A That's possible. But I --

18 Q It's all theory.

19 a I am taking a special interest in how cancer grows
20 by attending these meetings, and as opposed to saying here
21 I believe without any rationale that it was present or not
22 present on a given day and say well, it's my experience,
23 in my experience, that tumor was never present six months
24 earlier. I don't know what that means. But that's an

1 answer I hear very often.

2 Q Are you suggesting that you don't agree with Dr.
3 Engleberg?

4 A I do not know Dr. Engleberg.

5 Q Have you heard that is his opinion?

6 A I don't have his depositon.

7 Q Were you told that?

8 A I am told that he will probably say on a given time
9 something was not present. Mow, I don't know how you know
10 that.

11 Q Maybe it's by the same way of your saying that it
12 was present. You don't know that either, do you?

13 A I don't know that, but I am trying to give you some
14 of what the science is trying tu say when it was present.
15 You're not born at age forty.

16 Q I don't know what that means.

17 A It means you have to go through something to get to
18 age forty.

19 Q I don't disagree with that. If you don't know what
20 it goes through, how can you sit and postulate it?

21 A How can we argue the biology in court for twelve
22 people or ten people or eight people to make that logical
23 decision?

24 Q Let's argue it a little differently.

1 A I don't really mean to engage you in an argument.
2 And I apologize to you for that,

3 Q I don't think you are. There is no reason to
4 apologize.

5 A I don't want you to feel that way,

6 Q That's fine. You would agree, would you not,
7 Doctor, that at certain stages, A, B, C prior to Dukes A,
8 B, C, just as ease of reference, the statistics are very
9 good for curing colon cancer; is that true?

10 A For Dukes-A, you have a very good prognosis.

11 Q B?

12 A Worse prognosis than Dukes-A.

13 Q But better than fifty percent?

14 A As a general rule, yes.

15 Q So it's more probable that you **will** be cured?

16 A You will survive five years, correct.

17 Q And the only reason you say five years is the
18 studies really don't help us much more after that; is that
19 right?

20 A No, that's not the answer.

21 Q Okay. What is the answer?

22 A The answer is that the biology of a colon cancer is
23 a little different, say, than the biology of a breast
24 cancer, wherein a breast cancer, if you're out ten years,

1 I will never say you are probably cured of your breast
2 cancer. I might say you may be disease-free. If you're
3 out ten years from your colon cancer, and you don't have
4 evidence of recurrence, I would probably say you're cured
5 of that particular colon cancer, because if you survive
6 ten years disease-free with colon cancer, unless you have
7 an unusually huge doubling time, four five, six hundred
8 days, then you are probably cured, because most colon
9 cancers, if they are going to reoccur, usually do reoccur
10 within, well within that ten-year period,

11 Q Let me just pick up on something *you* just said,
12 just so it's not left hanging, When you say unusually
13 long doubling time, four, five, six hundred days, you're
14 suggesting that those things exist then?

15 A Absolutely.

16 Q So if Mrs. Vernon had an unusually long doubling
17 time, what would that mean in terms of your opinion?

18 a Two things. It meant it started very long before I
19 said, at an average doubling time of that; and two, it
20 would take a very long time before she dies from
21 metastasis.

22 Q What would be unusually short doubling time?

23 A Unusually short?

24 Q Yes.

1 A I think when you start getting down under thirty
2 day doubling time, you're in a very short doubling time
3 for colon cancer.

4 Q Are there people with unusually short doubling
5 time?

6 A There is a range, and there are some. And those
7 people don't survive very long.

8 O What would that mean for Mrs. Vernon if she had an
9 unusually --

10 A I don't think she would be here right now, unless
11 she had an unusually sensitive tumor.

12 Q Which was sensitive to the cancer treatment?

13 a Yes. But if her biology is unperturbed by her
14 chemotherapy, then I think she would have been dead by
15 now.

16 Q When you say unperturbed --

17 A Unperturbed, meaning wasn't destroyed, tumor cells
18 weren't changed. Every time she got an injection of
19 something, it killed one log of tumor. Then we have to
20 wait for that log of tumor cells to double again.

21 O What if, in fact, the lesion did decrease at some
22 point in time, what would that mean to you?

23 A It depends on how much it decreased and for what
24 period of time it decreased. It's not unusual for us to

1 see in clinical trials or clinical practice, to see a
2 tumor regress fifty percent or something like that.

3 Q With treatment?

4 A With treatment. And it's usually a short lived
5 response.

6 Q So that wouldn't assist you in terms of her
7 biological response?

8 A No, it wouldn't change. It would change some, but
9 as a general rule, people who have a partial response, as
10 opposed to a complete response, don't have much change,
11 lengthening of survival.

12 Q Have you asked for Dr. Engleberg's deposition?

13 A No. But it was sent to me.

14 Q So you did receive it?

15 A It was delivered today. I have not had a chance to
16 review it. It was sent to me.

17 Q Have you written any other reports other than this
18 one in this case, Doctor?

19 A No.

20 Q You have testified before, I assume?

21 A Yes.

22 Q Have you testified on behalf of plaintiffs?

23 A Not in cancer, delay in diagnosis.

24 Q How many times have you testified for defendants?

1 A Very infrequently. And that's only been in cases
2 of mesothelioma, where I have been the mesothelioma study
3 chairman. It's not necessarily an action against a
4 doctor, I don't think. It's usually been against a
5 manufacturer of asbestos, I guess.

6 Q Have you testified in colon cancer cases before?

7 A I will say yes, but I don't know for sure.

8 Q I guess I don't understand that answer.

9 A Well, the answer is I think I have, but I can't
10 recall a specific case.

11 Q And when I say testified, I mean by way of
12 deposition or trial.

13 A Yes, I understand. I think yes, but I don't recall
14 a specific case.

15 Q Did you testify in court?

16 A I don't recall that.

17 Q How long ago was this?

18 A If I could recall --

19 Q Do you keep records?

20 A If I could recall the first one, I would answer
21 everything for you.

22 Q Have you yourself been sued, Doctor?

23 A Absolutely.

24 Q Ever for failure to diagnose?

1 A Not that I can think of, no. I don't think so.

2 Q What have you been sued for, sir?

3 A I was sued once for taking out an appendix that was
4 not an acute appendicitis that I didn't take out.

5 I have been sued once for draining
6 radiation necrosis in a breast, that the judge later threw
7 out after whatever length of time it took for them to
8 produce an expert witness.

9 I have been sued once for using the arg
10 laser and destroying somebody's penile condyloma.

11 Q What was the result of that?

12 A I destroyed the condyloma, but he said I lost too
13 much tissue. And he lost I think, I don't know the exact
14 terms, but I think it's called consortium time.

15 Q What was the result of that case, Doctor?

16 A It may be dropped. I don't know where it is right
17 now.

18 I was sued once for, I really don't
19 know what I was sued for in that case. It was a breast
20 cancer which I did a lumpectomy, axillary node dissection,
21 treated the lady. I was sued. She developed a metastasis
22 at a subsequent time. I was sued and the referring doctor
23 was sued. And I was subsequently dropped with prejudice.

24 I have been sued for giving

1 chemotherapy to a locally advanced kidney cancer who
2 received concomitant radiation therapy and subsequent
3 resection of the tumor, who is alive and well several
4 years later,

5 Q What was the result of that case?

6 A We are waiting to go to court, I guess. I don't
7 know. I am sued because they assumed that there was a
8 delay while he got the drugs and radiation of two months
9 or three months. And it may have shortened his survival
10 time, as opposed to being operated on straightaway.

11 Q What else?

12 A I am not the operating surgeon in that. I was the
13 chemotherapy doctor in that one. I am being sued for
14 having done an abdominal perineal resection on a rectal
15 cancer, who subsequently died. I am not sure why I am
16 being sued with everybody else, but I have been named in
17 the suit. That hasn't gone anywhere, as far as
18 depositions or anything.

19 And I am being sued in another breast
20 cancer case, who developed metastasis after lumpectomy,
21 axillary node dissection with negative nodes. And I am
22 not sure why I am being sued in that yet either.

23 Q Pending?

24 A Well, no depositions. I don't know. I have been

1 named. I think that's it. I am sure there are more to
2 come, but I don't feel as badly about it as the first one,
3 which was devastating.

4 Q Do you lecture or teach on the doubling time
5 theory?

6 A Whenever I give a talk, I always lecture and talk
7 on that. If I talk about the natural history of any tumor
8 system, I talk about it. When I talk in Washington in two
9 weeks, I will be talking about it. I spoke yesterday at a
10 hospital for grand rounds on breast cancer, and talked
11 about it.

12 a Talking about it in the context of this being a
13 theory or espousing it?

14 A I espouse it. And to me it helps me understand who
15 lives and dies, and when the individual dies.

16 Q So you are a proponent of the doubling time theory
17 then?

18 A Absolutely.

19 Q You had some notes, Doctor, that you were referring
20 to before that apparently were assisting you in your
21 doubling time calculations.

22 A I will let you look at whatever I have here.

23 Q Thank you.

24 A There really weren't very many notes,

1 Q And the notebook is what was sent to you?

2 A Yes. You may have that.

3 Q And you mentioned your writing is not real good,
4 and I concur.

5 A I want this to be on the record. This is the first
6 time he has really hurt me.

7 Q Hopefully --

8 A What would you like me to read to you?

9 Q Everything. Do you have a Xerox machine here?

10 A Yes, Do you want me to Xerox this?

11 Q I would. We can give a copy to the court reporter
12 and give John a copy.

13 (Recess.)

14 BY MR. KAMPINSKI:

15 Q If you would decipher for those of us whose eyes
16 are not very good, how is that?

17 A Agnes Vernon, January '86, hemocult negative.
18 April 23rd, '87, Roth, internist, schedule BE, possible
19 pregnant, 4/22/88, age twenty-seven female, biopsy,
20 thirty centimeter. I think that's histology, poorly
21 differentiated CA. That one little scribble, you must
22 have messed up with your finger, because I can't read it
23 read it too well myself.

24 Q From what you told me, it's applicable to you, be

1 nice.

2 A 4/23/88, exploratory laparotomy, three gross
3 metastasis to liver. Left colectomy, two metastasis,
4 right and left lobe biopsy. A third metastasis, not
5 biopsied left lobe. CT scan, liver, CT liver scan. I got
6 something, nine, something, ten metastases. I don't know
7 what that means without having reviewed what the liver
8 scan was, right anterior lobe. 5/11, liver scan negative.
9 4/23/88, 4/24 and 5/19, CEA all less than three.

10 Path, I have written down poorly
11 differentiated mucin CA, node **mets** less than one
12 centimeter, liver mets, and I wrote down all the numbers.
13 And I think they are legible. Are they acceptable or do
14 you want me to read them all out loud?

15 Q Just read the writing next to it on the right side.

16 A Left it says mucin, left lobe mucinous. And I
17 didn't write right lobe, but it should have been right
18 lobe.

19 Q Where it says lobe?

20 A Yes, lobe lobe, it could have been right lobe.

21 Q Lobe lobe is right lobe. Why don't we stop for a
22 second and explain the numbers to me?

23 A Which numbers? Those are the numbers that I took
24 off the path report, sizes.

1 Q Five times .03 times .03?

2 A Yes, I got these off the pathology report.

3 Q Those are meaningful in what way?

4 A Only those are the sizes measured at that point?

5 Q Those are three?

6 A By the pathologist.

7 Q The three dimensional sizes?

8 A Yes. It appears there were two separate specimens
9 in each of them.

10 Q Now, am I correct that you used .5, which is the
11 number that we talked about before in determining the --

12 a I used that as the average for each of them.

13 Q Well, why?

14 A I just used them as such,

15 Q .5 is only one of the measurements on three of
16 the --

17 A No, but if you add them both together, it's larger
18 than, isn't it?

19 Q I don't know. I didn't add them.

20 A .5 and .8 is larger than .5. .3 and .6 is larger
21 than .5. .3 and .1 comes up to .4.

22 Q Is that how would you add them?

23 A If they are both the same.

24 Q If it's .5 times .3 times .3, that's the size of

1 one, right?

2 A I understood that pathology to have, and maybe we
3 ought to look at it, to have two specimens from each of
4 those biopsies. For each liver metastasis that was
5 biopsied, there are two sets of numbers.

6 Q I am looking at it.

7 A So number one is liver biopsy right lobe: is that
8 correct?

9 Q Yes.

10 A So the right lobe appears to have two specimens.
11 You don't read it that way?

12 Q I am not sure that's accurate, Doctor. It says a
13 gray and brown segment is .5 times .3 times .3
14 centimeters, This is completely submitted in number one
15 and a portion .8 times up to .6 times .1 centimeter has
16 been used from a frozen section.

17 A Then you will have to help me. If you take a
18 portion that is .5, how can you take a bigger piece out?

19 Q I don't know.

20 A In other words, he is saying he took a portion out,
21 And the portion he removed, from whatever, is larger than
22 the original.

23 Q You got them here, don't you, the slides?

24 A No, I don't. I think they have been returned; is

1 that correct?

2 MR. ROBERTSON: Not to my knowledge.

3 THE WITNESS: We may have them here.

4 BY MR. KAMPINSKI:

5 Q Why don't we take a look and see?

6 A I can't do that. I don't have a microscope, number
7 one. And number two, it wouldn't help us with this. I
8 don't think it would help with this. This says it took a
9 portion. The first one he says this is completely
10 submitted in number one.

11 Q I see that.

12 A Then he takes a portion that is bigger than the
13 original, so I assume that there are two specimens. I
14 might be wrong. But I don't understand how you get a
15 portion that is larger than the original. I would like to
16 do that with bank deposits.

17 Q Let's go slow, Doctor. If a segment submitted was
18 .5 times .3 times .3, and if that contained not only tumor
19 tissue, but tissue adjacent to it, which would be what you
20 typically find, correct?

21 A If it's completely removed, I don't know whether it
22 was.

23 Q He removed two lobes, right, or two lesions?

24 A Yes, But it says it was biopsied. It doesn't say,

5 1 from my reading of the operative report, whether they were
2 completely excised. But I assumed they might have been,
3 I don't know. I can't tell that from the operative
4 report.

5 Q Let's assume they were.

6 A Okay. Then one specimen arrives,

7 Q Okay. Unless he --

8 A Unless he cut it in half and it took two pieces.

9 Q Let's say one specimen arrived. And that would
10 contain not only tumorous tissue, but also adjacent tissue
11 that wasn't right. That's what you would expect?

12 A Provided he excised it through normal tissue,
13 that's what I would expect, yes,

14 Q What would you see if you looked?

15 A It's been a while. I can't tell you. It wasn't
16 Last night.

17 Q Well, you haven't had that it that long, Doctor.

18 A No, but --

19 Q This stuff wasn't sent to you that long ago.

20 A I am not trying to be difficult.

21 A Did you look at it?

22 A Yes.

23 Q Was non-tumorous or non-cancerous tumor adjacent to
24 the tumor?

5 A In number one, there was no tumor.

2 Q There is no tumor?

3 a As I remember, we just saw the mucin.

4 Q That was number two.

5 A Is that number two?

6 Q Yes.

7 A Left lobe, number one has got tumor, okay.

8 Q so --

9 A I was mixing one and assuming.

10 Q And of all the specimens, that's the only one. I
11 am sorry. And number three also has evidence of tumor, of
12 cancer?

13 A Number three, they measure the size of the tumor.

14 Q That's from the colon, right?

15 A Correct.

16 Q We are concerned with what was removed from the
17 liver.

18 A Correct, that's what you're asking me about.

19 Q That is what I am asking about. And if you have a
20 specimen, Doctor, that is .5 by .3 by .3 and it contains
21 not only cancerous tissue but non-cancerous tissue, don't
22 you, in using this doubling theory, have to somehow
23 'determinewhat exactly is just the tumorous tissue?

24 A That's what we have to measure.

1 Q Or cancerous tissue?

2 A You're absolutely right.

3 Q Can you do that?

4 A I did that. I don't have the number in front of me
5 for the liver. I just assumed for this example 0.5
6 centimeters. And as I remember, and I don't have that
7 written in front of me now, the number for the lymph node
8 metastasis was 0.5 centimeters.

9 A Let's deal with the liver for a second, because I
10 think we both agreed earlier that the lymph nodes at least
11 you can cure that by excising it, right?

12 A Well, so can you if you remove it.

13 A I am sorry. If you remove the lymph?

14 A If you remove the liver metastasis, you're cured
15 just the same as removing the --

16 Q Do you quarrel with what the surgeon did here?

17 A I have no quarrel with anybody. I am not mad with
18 anybody.

19 Q Do you have any criticism of his treatment of this
20 tumor?

21 A I can't and I don't like second guessing somebody
22 who is there at the operating table. And it's not fair
23 for somebody not there to start making judgments. It's
24 very easy to make these judgments. If he was operating on

1 her for cure, and I assumed he was not, then I would just
2 biopsy her. If I didn't think she was curable by the
3 surgery, if he thought by excising the three metastases he
4 might cure her, then he should have excised the three
5 metastases. He did make a note somewhere about coming
6 back in six months. If they didn't increase in number, he
7 would take them out.

8 I am not criticizing the surgeon. But
9 he's there, just as a point of discussion, not as a point
10 of adversary discussion. If he is excising all three,
11 then he doesn't have to come back in six months.

12 Q I understand what you're saying, Let's go back for
13 a moment to the lesion that was, in fact, removed. Would
14 it change your opinion, depending upon what the size of it
15 was, or wouldn't it matter?

16 A Yes, if it's a millimeter smaller, then I have to
17 calculate on the size of the tumor. If it's two
18 millimeters smaller, let's just assume for this discussion
19 now that there is only one millimeter worth of tumor there
20 rather than five millimeters, the answer would be two
21 thousand days rather than twenty-seven hundred days.

22 Q Why is that?

23 A Because despite what we may or may not agree upon,
24 it's accepted that one millimeter is twenty doublings.

6
1 Just as it is accepted that one centimeter is just about
2 thirty doublings. So if we said, assuming there was one
3 millimeter worth of tumor there, it has gone through
4 twenty generations to get there. The part we may haggle
5 about is the length of time it took to get there.

6 Q Let's go just a little slower for my edification.

7 A millimeter is what compared to a centimeter?

8 A One-tenth of a centimeter.

9 Q But it's for the one-tenth of the doubling time.

10 It's two-thirds of the doubling time of a centimeter,
11 right? You just said a millimeter goes through twenty
12 generations, whereas a centimeter goes through thirty.

13 A Correct, at an average doubling time of a hundred
14 days, from what you're saying, using that math.

15 Q Now, you got one-tenth of the size; that is, a
16 millimeter as opposed to a centimeter. And you got it
17 going through two-thirds of the doubling time that a
18 centimeter would go through, right?

19 A Correct.

20 Q Well, if this is a linear growth, then why wouldn't
21 it be one-tenth of the generation time, Doctor?

22 A Say that again. I didn't understand your question,
23 If we are using an average doubling --

24 E Probably because it's very inartfully asked.

1 a No, I have the feeling that you're delightfully
2 pleasant and crazy like a fox. I think you are a very
3 intelligent attorney. And your questions have been very
4 pointed. And you understand very clearly.

5 Q Good.

6 A If you're age twenty, as opposed to age thirty, you
7 have gone through twenty birthdays, as opposed to thirty
8 birthdays. And if it takes one year to get there, we are
9 on the same wave length. If each birthday is one year
10 and you live twenty birthdays, you go another ten
11 birthdays, it's a third more. That's how I understand how
12 cancers grow.

13 Q Well, you have gone up ten times from a centimeter
14 to a millimeter. And you have only doubled --

15 A You have gone through ten doublings.

16 MR. ROBERTSON: I don't want to
17 testify, but I think one has to do with volume and
18 one has to do with time.

19 BY MR. KAMPINSKI:

20 Q Is that correct?

21 A Sure. You're comparing time to volume. They are
22 not synonymous.

23 Q Are you saying volume is not the same thing as the
24 amount of cells that have doubled?

1 A The doubling time is a time it takes to double the
2 volume of cells. To go from one million cells to two
3 million cells is a doubling time.

4 Q But that wouldn't necessarily mean that the mass is
5 going to double; is that what you're saying?

6 A It takes three doublings to go from a one
7 millimeter by one millimeter by one millimeter to two
8 millimeters by two millimeters by two millimeters. To go
9 from one centimeter by one centimeter by one centimeter,
10 it takes three doublings to do that.

11 Q Three doublings?

12 A That is a very tough concept. We will try to come
13 up with some nice mechanism to help you understand that.

14 Q Such as what?

15 A Some kind of physical demonstration. But I will
16 tell you that it took me a very long time to understand
17 that. I think your experts will probably understand that.

18 Q Well --

19 A But it took me a little bit too, because when I
20 initially tried to understand cancer, having been a cancer
21 doctor for a long time, how they grew, and I said, well,
22 if doubled once, it goes from one to two. It goes from
23 one to two as far as one doubling. It went from say
24 twenty doublings to twenty-one doublings, it doubled, And

1 you doubled the volume of cells.

2 Q But the mass doesn't double.

3 A The mass didn't double by going from a one by one
4 by one to a two by two by two. Way back when somewhere
5 this afternoon, I said something about three hundred days
6 or you mentioned three hundred days. And I said, if it
7 was two centimeters at the start of that, then it went to
8 four centimeters. And I said that if it was three
9 centimeters at an average of a hundred days, it went to
10 six centimeters, because it takes three doublings to
11 double that in three dimentions.

12 It's not you, because I think if you
13 asked most physicians, they would not understand that.
a4 And a very largs percent of people who treat cancer would
15 not understand that. It's a difficult concept to
16 visualize.

17 Q I'll tell you what troubles me about this, Doctor.
18 And maybe it doesn't trouble you because you have dealt
19 with it so long. What you're suggesting is that as soon
20 as there is a demonstrable lesion in the liver, that by
21 this doubling theory, you're going to be able to say that
22 it existed for such a period of time that basically was
23 incurable, If you're telling me that a one millimeter
24 lesion in the liver has been there for two thousand

1 lays --

2 A For twenty doublings.

3 Q Okay.

4 A If you have a ten-day doubling time, it has been
5 there for twenty times ten.

6 Q Two hundred days.

7 A But it's not likely, because they are really acute
8 cancers. I mean, they are really acute. I mean you're
9 getting down to cancers like testicular cancers or some
10 very, very rapidly growing cancers.

11 3 What you're telling me, Doctor, is as soon as there
12 is a least appreciable evidence of a lesion in the Liver,
13 that you're going to be able to say with this doubling
14 theory, it has been there for a long time.

15 A I think that's absolutely correct. And that's the
16 unfortunate thing about having some cancers.

17 Q But you have nothing to support that, other than
18 this hypothesis.

19 MR. ROBERTSON: Objection.

20 THE WITNESS: For whatever is done
21 experimentally, but I can't support it in humans.
22 It's tough to do those experiments in humans.

23 BY MR. KAMPINSKI:

24 Q They have never been done.

1 A Well, there have been some experiments test
2 marketed in Europe during World War II in places like
3 Trablanka, Auschwitz and a few places like that, but we
4 can't do that,

5 Q If you would continue then with reading --

6 A Anyway --

7 Q -- the notes?

8 A We may have a discrepancy as to what the actual
9 size is in the liver.

10 Q It doesn't matter to you in terms of your opinion?

11 A No, whether it's a millimeter or five millimeters,
12 it's been there a period of time.

13 Q I got you.

14 A 7/15/88, CT scan, liver mets increased in size.
15 8/18/88, liver scan, mets are now seen on liver scan.
16 8/23/88, MRI, mets right and left lobe of liver,
17 considered a 5-FU failure. 8/30/88 -- I should say after
18 that, it means there was progression under 5-FU. I just
19 wrote it as failure.

20 Q What does that mean?

21 A It wasn't controlling the tumor, There was
22 progression. 8/30/88, operation, insertion of hepatic
23 artery, infusion catheter. Liver mets not identified, and
24 I put question mark. In the operative note, I couldn't

1 tell that. I got thirty-five, and underneath that 3500,
2 meaning if something went through thirty-five doublings at
3 a hundred day doubling time, it was there for 3500 days,
4 on average and 27-D at a hundred, it is twenty-seven
5 hundred days, average doubling time a hundred days, in
6 that example.

7 Q Why did you use thirty-five?

8 A I don't recall at the time. It was something I did
9 today over lunch.

10 Q That was going to be my next question. This is not
11 an analysis you did at the time that you wrote your
12 report, is it?

13 A Not the last little bit of numbers, All this other
14 was written when I wrote the report. The analysis was
15 lunch today, the writing underneath that.

16 Q Twenty-seven hundred days is how many years?

17 A Math is not my strong suit, but if you divide three
18 hundred sixty-five days into that.

19 Q It's not mine either, that's why I asked,

20 A I don't want to spit it out, What I should 'nave
21 done is had it written down and say, well -- and spit it
22 out.

23 Q 7.39 years,

24 a I think she probably has a faster doubling time

1 than that.

2 Q Well, let's do the one that you used with your
3 numbers, Doctor.

4 A I used the average.

5 Q So that she had liver metastasis for almost seven
6 and a half years?

7 A Yes, if the tumor is five millimeters in size with
8 an average doubling time of that.

9 Q Just a few more questions, Doctor. If I understand
10 the way that this spreads, is it gets into the blood and
11 is carried by the blood somehow to the liver and the lymph
12 nodes; is that right?

13 A Tumor cells at some point, as you understand, are
14 shed either into the lymphatics or the bloodstream. And I
15 think you probably know from your experience that the
16 lymphatic drainage at some point drains into the blood
17 system, so whatever tumor cells that may be in the
18 lymphatics that have not embolized in a lymph node, wind
19 up in the blood circulation.

20 If you have metastasis in a distant
21 site that did not get there by direct extension, it had to
22 get there by blood. There are a couple of minor
23 exceptions to that rule, but it's possible.

24 Q Why does it go to the liver, as opposed to some

1 other organ?

2 a It's equal opportunity. It's not prejudiced,
3 However, if you look how the blood drains back from the
4 bowel, it goes through the portal system, and is one of
5 the first systems it reaches.

6 Q Okay.

7 A So just as lymph nodes are in closer proximity,
8 usually the closer lymph nodes wind up with more tumor, as
9 more tumor cells passing through them, my understanding.
10 And so liver is the most common solid organ from the GI
11 tumor, colon.

12 Q Because it's closest?

13 a Not because it's closest, because the blood passes
14 through there and then goes back down through a capillary
15 system.

16 Q The blood does circulate to other areas of the
17 body, thought, also.

18 A Sure. It can go to lungs. It can go to brain.

19 Q Why didn't it spread to other organs if it was
20 there for seven and a half years and if it already spread
21 to the liver?

22 A It's a biologic phenomenon. I mean why hasn't
23 spread since then? It may.

24 Q Does the blood go to the placenta also when a woman

1 is pregnant?

2 A Some blood may cross over into the placenta, not
3 necessarily. The placenta is products of conception. And
4 there is, and I am not an expert in this area, some mixing
5 of blood. And there are some tumors, such as melanoma,
6 that have crossed over.

7 Q Would you have expected to see any evidence of
8 matastasis in the placenta if, in fact, it had
9 metastasized by that time?

10 A No. It's very rare that any of that happens. But
11 there have been babies that have been reported to have
12 melanoma that crossed over that barrier,

13 O Could this baby have had cancer?

14 A Any baby can have cancer.

15 Q As a result of the cancer in her mother?

16 A Colon cancer?

17 Q Yes.

18 A I don't know that it's ever been reported. This
19 one I'd bet the ranch on by saying not likely.

20 Q Do women who have cancer have a tendency to clot
21 more than those who don't,

22 A People who have malignancies, some of them are, as
23 you refer to, hypercoagulable.

24 Q What does that mean?

1 **a** It means they do clot more readily. And some of
2 the people who develop cancer require a much larger amount
3 of anticoagulants to get them to a similar therapeutic
4 level of anticoagulation.

5 **C** If you had a pregnant lady who had colon cancer and
6 you knew she had it, and you were treating her for it and
7 she was pregnant, nonetheless, would you do that; in other
8 words, provide her with anticoagulants because of that
9 possibility?

10 **A** One, I don't get involved in the care of pregnant
11 women.

12 **Q** I understand.

13 **A** And hopefully, not inducing any pregnancies
14 anymore, but I would not as a general rule probably
15 recommend it. It would be a discussion, probably a
16 three-way discussion regarding risks to the mother, risks
17 to the baby. And I don't know what the risks of
18 anticoagulation are to the baby. And so as a general
19 rule, I probably would not recommend it.

20 I can't think of anybody in the last
21 thirty years, who I was actively treating for a cancer,
22 that was pregnant at the same time.

23 **Q** So that might be an ob. question?

24 **A** It's not a question that I would be comfortable

3 Q Is there anything else in your review of the
4 records or the slides, Doctor, that we haven't discussed,
5 that you feel is important that forms a basis for your
6 being able to render an opinion in this case?

9 THE WITNESS: That's such a vague
10 question. I don't have any hidden agenda that I'm
11 conscious of.

13 Q Doctor, you and I understand this is discovery,
14 A Okay.

18 a We probably hit 99-99 percent.

20 a I don't have anything up front in my head right now
21 that I'm thinking that I left out, because I have
22 discussed everything that I am thinking about that I
23 consciously am aware of.

24 I'm basically involved in talking about

1 tumor growth doubling time, cellular kinetics, when tumor
2 cells get into the circulation and things that we have
3 just been talking about. And I have no hidden anything
4 that I haven't discussed in any of the lectures I have
5 given in the last several years.

6 Q And you see, I haven't attended any of those, so
7 it's hard for me to --

8 a Washington, April 1st.

9 Q I think I'm busy.

10 A I don't have anything that I am consciously aware
11 we are going to spring on you and say we got you.

12 Q Well, it may be subliminal at the moment, as
13 opposed to conscious.

14 A I don't think there is anything subliminal.

15 a Do you plan on providing an analysis or a report on
16 Dr. Engleberg's testimony, since you have been provided it
17 to review?

18 A Will I provide an analysis or anything written?

19 No.

20 a Just verbal?

21 a I'll read it, to understand what he is thinking.

22 And if there are things that I really disagree with or
23 think are not quite the way I'm thinking, if he's thinking
24 blue and I'm thinking red, I will say we have a difference

1 in thought process here.

2 Q So that, Doctor, if the physicians who actually saw
3 the tumor and treated this lady opine that earlier
4 diagnosis would have resulted in cure, you disagree with
5 that, right?

6 A I don't think it makes any difference when you see
7 them or who sees them, I should say . I think the **biology**
8 of the cancer is what makes the difference, and not
9 whether I see him or somebody else sees them.

10 MR. KAMPINSKI: That's all I have.

11 Thank you.

12 (Witness excused.)

13 - - -

14 TESTIMONY CLOSED

15 - - -

16

17

18

19

20

21


22

23

24

C E R T I F I C A T I O N

I hereby certify that the proceedings,
evidence and objections are contained fully and
accurately in the notes taken by me in the above
deposition, and that this is a correct copy of the
same.



JOAN M. CONVERY
Registered Professional Reporter