

The State of Ohio,       )  
County of Cuyahoga.    )  SS:

IN THE COURT OF COMMON PLEAS

Travis Cates, et al.,       )  
                  Plaintiffs,        )Case No,  
                  - vs -                ) 167,835

Cleveland Metropolitan    )  
General Hospital, et al.,)  
                  Defendants,        )

- - - 000 - - -

Deposition of PHILLIP LERNER, M.D., a  
witness herein, called by the Defendant,  
Cleveland Metropolitan General Hospital,  
as if upon direct examination under the  
statute, and taken before Luanne Protz, a  
Notary Public within and for the State of  
Ohio, pursuant to the agreement of  
counsel, and pursuant to the further  
stipulations of counsel herein contained,  
on Friday, the 6th day of September, 1991  
at 3:30 o'clock P.M., at Mount Sinai  
Medical Center, the City of Cleveland, the  
County of Cuyahoga and the State of Ohio,

- - - 000 - - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES:

On behalf of the Plaintiffs:  
Charles I. Kampinski, Esq.  
Christopher Mellino, Esq.

On behalf of the Defendant,  
Metropolitan General Hospital:  
Arter & Hadden, by:  
Thomas Allison, Esq.

On behalf of the Defendant,  
Dr. Matejczyk:  
Jacobson, Maynard, Tuschman  
& Kalur, by:  
Robert Seibel, Esq.

- - - 000 - - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

P R O C E E D I N G S

PHILLIP LERNER, M.D., being of  
lawful age, having been first duly  
sworn according to law, deposes and  
says as follows:

DIRECT EXAMINATION OF PHILLIP LERNER, M.D.  
BY MR. ALLISON:

Q Doctor, would you please state your  
full name and your professional address  
for the ladies and gentlemen of the jury?

A Phillip R. Lerner, Mount Sinai  
Medical Center, Cleveland, Ohio.

Q And what is your profession, Doctor?

A I am a specialist in infectious  
diseases.

Q At my request did you review certain  
medical records and other materials  
concerning the medical care and treatment  
of Travis Cates by Dr. Matejczyk and the  
physicians in the infectious disease  
service at Cleveland Metropolitan General  
Hospital?

A Yes, I did.

Q Have you reached certain opinions  
about the 'care and treatment provided to

1 Mr. Cates by the infectious disease  
2 physicians and fellows and residents, and  
3 the other personnel at Cleveland  
4 Metropolitan General Hospital from  
5 November 13th, 1987 through January 3rd of  
6 1988?

7 A Yes, I have.

8 Q Doctor, do you hold all of these  
9 opinions to a reasonable degree of medical  
10 probability?

11 A Yes.

12 Q And are all the opinions that you  
13 will express here today, will those be to  
14 a seasonable degree of medical  
15 probability?

16 A Yes.

17 Q Now, before we get to your opinions,  
18 Doctor, I'd like you to just tell the jury  
19 a little about your qualifications.  
20 First, could you tell us about your  
21 educational background?

22 A I'm an undergraduate -- I went to  
23 what was then called Western Reserve  
24 University, Adelbert College, and, then,  
25 the medical school, which was then called

.....

1 Western Reserve University School of  
2 Medicine, graduated in 1958, and, then,  
3 went for postgraduate studies to Boston,  
4 first the Beth Israel Hospital for two  
5 years, and, then, I trained and joined the  
6 staff of the New England Medical Center,  
7 also in Boston, affiliated with Tufts, for  
8 the following six years, and, then, I  
9 returned to Cleveland.

10 Q When did you return to Cleveland,  
11 Doctor?

12 A In 1966.

13 Q And, what -- what did you do when you  
14 returned to Cleveland?

15 A I was the chief of the infectious  
16 disease section at the VA Hospital.

17 Q Here in Cleveland?

18 A Here in Cleveland.

19 Q And how long did you hold that  
20 position?

21 A Seven years,

22 Q And, then, after your term as chief  
23 of infectious diseases at the VA Hospital,  
24 what did you do?

25 A I came across the street to this

1 institution, the Mt. Sinai, then called  
2 Hospital now called Medical Center.

3 Q Now, Doctor, have you been here at  
4 Mt. Sinai, then, ever since?

5 A Yes.

6 Q Have you held any teaching positions?

7 A I teach both here at the hospital and  
8 at the medical school.

9 Q And, could you just describe for us,  
10 briefly, the nature of your teaching  
11 responsibilities?

12 A This involves the teaching of  
13 residents in the hospital, both the  
14 medical and surgical, the teaching of  
15 medical students rotating from Reserve  
16 through our institution, and there are  
17 students also from other medical schools,  
18 and going over to the medical school, and  
19 teaching at the -- in the first two years  
20 at the medical school.

21 Q Do you hold any faculty positions  
22 with the medical school?

23 A Yes, I do.

24 Q And what position is that, Doctor?

25 A I'm a professor of medicine at the

1 medical school,

2 Q And when did you obtain that  
3 position?

4 A I was promoted to professor eight or  
5 nine years ago.

6 Q Are you licensed to practice medicine  
7 in Ohio, Doctor?

8 A Yes.

9 Q And do you have privileges at other  
10 hospitals besides Mt. Sinai?

11 A I have admitting privileges at  
12 University Hospitals as well, and I have  
13 courtesy or consulting privileges at a  
14 number of other institutions,

15 Q What does consulting privileges mean,  
16 Dr. Lerner?

17 A It means that, if some physician on  
18 the staff would like me to participate in  
19 the care of a patient in that institution  
20 on an irregular basis, on an occasional  
21 basis, that I'm permitted to do so.

22 Q Are you a member of any professional  
23 organizations?

24 A Yes.

25 Q And what might some of those be,

1 Doctor?

2 A Infectious Disease Society of  
3 America, American Society of Microbiology,  
4 Ohio Thoracic Society, American Federation  
5 for Clinical Research, several others.  
6 Offhand, I don't recall.

7 Q Have you published any articles in  
8 the medical literature?

9 A Yes.

10 Q About how many; do you recall?

11 A Somewhere in the range of three or  
12 four dozen original papers, case reports,  
13 and clinical and laboratory research,  
14 perhaps several dozen chapters in  
15 textbooks.

16 Q What -- basically, if you could  
17 describe for us the subject matter of your  
18 articles and book chapters, in a general  
19 sense.

20 A Most of my writings have been based  
21 on bacterial infections and treatment with  
22 antibiotics,

23 Q Doctor, what per cent of your  
24 professional time do you spend in the  
25 active clinical practice or teaching of



1 medicine?

2 A Somewhere around 85 to 90 per cent.

3 Q Is your practice limited to the

4 specialty of infectious diseases?

5 A Pretty much so.

6 Q Is there something else you do as

7 well as that,. then?

8 A Occasionally, I make rounds on the

9 medical service, and, so, there is some

10 internal medicine teaching at that point.

11 Q What is the specialty of infectious

12 diseases, Dr. Lerner?

13 A It's the study, diagnosis and

14 treatment of infections.

15 Q And, how long have you actually been

16 engaged in this specialty of internal --

17 of infectious diseases?

18 A Since 1962 or '63.

19 Q Perhaps, if you could for us, just

20 describe a typical day in your practice as

21 a specialist in infectious diseases.

22 A My day begins at 8:00 in the morning

23 with rounds on the floors with my sicker

24 patients. At 9:00 o'clock, I break off

25 and go down to the cafeteria where the

1 medical staff holds its morning report  
2 with the house staff, going over the  
3 overnight activities. That's held for an  
4 hour and, then, from 10:00 until noon, we  
5 make rounds again, seeing patients, going  
6 to the X-ray department and laboratories,  
7 checking out tests, examining patients,  
8 setting up new tests.

9 We, again, reconvene sometime in the  
10 early afternoon for additional rounds, and  
11 on certain days, there are conferences.  
12 On other days, there are laboratory  
13 conferences. And, a small percentage of  
14 my time is devoted to seeing patients,  
15 out-patients in the office.

16 Q Doctor, in addition to your practice  
17 of infectious diseases, do you also, from  
18 time to time, receive requests from  
19 attorneys to review cases like this case  
20 that is in litigation?

21 A Yes.

22 Q Approximately, if you could, tell us  
23 how many cases you've reviewed per -- in  
24 the last five years.

25 A Well, I don't keep track of this, and

1 every time I get asked, it's an  
2 approximation, but I receive somewhere  
3 around a dozen to a dozen and a half calls  
4 per year from lawyers who are seeking my  
5 expertise. Out of that number, I may  
6 review about a dozen cases, most of which  
7 are malpractice, but some are product  
8 liability.

9 Q Doctor, do you review cases for  
10 plaintiffs as well as defendants?

11 A Yes.

12 Q And, could you give us an  
13 approximation of what percentage of the  
14 cases might be for plaintiffs, and what  
15 per cent might be for defendants?

16 A A few years ago, it was around 50/50.  
17 I would estimate now it's a little less  
18 than that, probably 30, 40 per cent for  
19 plaintiffs, and the rest for defendants.

20 Q Doctor, why do you review cases?

21 A I feel very strongly that it's  
22 important that medical malpractice cases  
23 be settled on the basis of the medical  
24 facts and not on the basis of legal  
25 contortions.

1 Q Doctor, are you -- you were engaged  
2 in the full-time practice of infectious  
3 diseases in 1987.

4 A Yes.

5 Q And, you are familiar with the  
6 standard of care required of infectious  
7 disease physicians in 1987.

8 A Yes.

9 Q How does an infectious disease  
10 physician generally become involved in any  
11 patient's case?

12 A Most of the time, it's in response to  
13 a request from a physician to see a  
14 patient.

15 Q Is that a consultation?

16 A Yes.

17 Q Is that what they call that?

18 Now, when you are called as an  
19 infectious disease specialist by another  
20 physician to consult on a patient's  
21 condition, what professional service is it  
22 that you and other infectious disease  
23 specialists provide?

24 A In general, the expertise revolves  
25 around the question of diagnosis,

1 differential diagnosis, interpretation of  
2 culture results, access to specialized  
3 test material or techniques, and  
4 recommendations for therapy, which  
5 recommendations may include which  
6 antibiotic to use, the dosage of  
7 antibiotic and things of that nature.

8 Q Doctor, what is an infection?

9 A An infection is an encounter between  
10 a host and an organism. Now, an organism  
11 in layman's terms is a germ. It can be a  
12 virus or a bacteria or a fungus or a  
13 parasite, but it's an encounter between  
14 two living species, one of whom is trying  
15 to do something to the other.

16 Q As an infectious disease specialist,  
17 when you are called by another physician  
18 to -- to see a patient as a consultant,  
19 how do you determine whether that patient  
20 has an infection? Are there factors or  
21 things you consider or do, or how do you  
22 go about doing that as a specialist?

23 A Well, the encounter between the  
24 organism and the host can produce a  
25 variety of signs or symptoms. The

1 encounter may be completely silent and  
2 generate no abnormality, visible or  
3 demonstrable abnormality on the part of  
4 the host without some specialized tests,  
5 looking into the blood or something like  
6 that, for a marker for the encounter.

7       The encounter may result in a mild  
8 disease, a moderately severe disease, or  
9 something that's aggressive and  
10 life-threatening. The physical  
11 examination, evaluation of laboratory  
12 findings put together with the history of  
13 the patient, the type of patient and the  
14 evolution of the symptoms, forms the basis  
15 for the specialty.

16 Q       What type of -- of laboratory  
17 evaluations would be involved, generally?

18 A       The most important laboratory  
19 evaluations are smears; that is, taking a  
20 bit of the material, if there is some  
21 material available, and looking at it  
22 under a microscope with appropriate  
23 stains; cultures of the material, seeing  
24 if you can grow an organism or a bacteria.

25       There are other techniques for

identifying the presence of an organism without -- other than by growing it, and these would be specialized blood tests or examination of fluids in areas of the body where there normally is no fluid or no significant fluid.

Q If there was a possibility that an infection involved a joint, such as a knee joint, are there other procedures that are  
10 specific to determining whether there's an  
11 infection within a joint?

12 A Well, one would concentrate one's  
13 activities on the integrity of the joint,  
14 By physical examination and specialized  
15 X-ray studies, one would -- if fluid was  
16 available or material was available, one  
17 would examine that material by culture and  
18 smear techniques, analyze it.

19 Q How -- how would one obtain fluid  
20 from a joint for that type of laboratory  
21 analysis?

22 A Usually through an aspiration of a  
23 needle into a syringe. It may also be  
24 available at the time of an open operative  
25 procedure.

1 Q If you were able to obtain that type  
2 of a material, a fluid from a joint by  
3 aspiration, what are the various types of  
4 tests as an infectious disease specialist  
5 that you would want to do on that fluid to  
6 determine whether or not it had -- there  
7 was a presence of infection in the joint?

8 A You would send it to several  
9 different laboratories in the institution,  
10 one of which would count the number of  
11 cells and determine the proportions of  
12 cells present to see if you could  
13 quantitate the inflammatory response. You  
14 would send some material to the chemistry  
15 laboratory to measure for protein and  
16 sugar and other factors that would reflect  
17 inflammation. You would send some of the  
18 material to the microbiology laboratory  
19 and ask them to culture it.

20 Q Is there a test called a gram stain,  
21 Doctor?

22 A A gram stain is a relatively simple  
23 test in which you take some of the  
24 material you obtained, place it on a clean  
25 glass slide, fix it, dry it and stain it



with some readily available stains, and, then, examine it under the microscope.

Q Could you explain for us how these -- these various tests that you've just talked about aid you or any infectious disease specialist in determining whether or not there's an infection in a joint? Let's just start with the culture.

A Well, the culture, obviously, is a  
10 key test in determining whether or not  
11 someone is infected. If you obtain the  
12 growth of an organism from an area of the  
13 body where there are normally no bacteria,  
14 this is obviously a very strong point in  
15 favor of an infection. However, a report  
16 from a laboratory indicating that  
17 something is growing must be interpreted  
18 in light of the source of the specimen,  
19 because we are colonized with bacteria  
20 from the moment of birth throughout our  
21 entire lives, and there are organisms in  
22 -- in our bodies, on the surface of our  
23 body, and many of these are there  
24 permanently, and many of them are there  
25 only transiently, and sometimes these are

1 organisms that can cause a lot of trouble,  
2 but they're just sitting around in a  
3 colonizing form waiting for an  
4 opportunity, if the opportunity presents  
5 itself, to take advantage of the host.

6 Therefore, there's a great deal of  
7 interpretation necessary in the results  
8 from the microbiology laboratory.

9 Q Okay. How about the cytology,  
10 Doctor, that you talked about determining  
11 the number of different, I think it was,  
12 white blood cells, and the different kinds  
13 of cells? How is that involved in aiding  
14 in the determination of whether or not  
15 there's an infection in a joint?

16 A When the body responds to any type of  
17 insult or injury, there is a response  
18 called inflammation. Inflammation is the  
19 marshalling of cell substances in the --  
20 in the bloodstream to fight off the  
21 infection. The cells that are mobilized  
22 or marshalled are characteristically  
23 called forth in a specific pattern.

24 When there's an acute infection  
25 present, one will see mostly

1 polymorphonuclear leukocytes, These are  
2 the acute cells that respond to infection.  
3 The chronic cells of inflammation are  
4 macrophages and lymphocytes which are  
5 easily and readily distinguished under the  
6 microscope,

7 Q How about the total number of cells,  
8 Doctor? Is that an aid in determining  
9 whether there's an infection in the joint?

10 A Yes. The higher the number of cells,  
11 the more significant it is.

12 Q You talked about chemical laboratory  
13 tests. I think you mentioned protein and  
14 glucose or sugar. How are they of benefit  
15 in determining whether or not there's an  
16 infection?

17 A Again, as a measure of inflammation,  
18 and there are many types of inflammation,  
19 the level of the glucose in a -- in a  
20 fluid can point you in the direction of  
21 certain types of infection. The height of  
22 the protein elevation can likewise  
23 indicate a certain type of infection, as  
24 opposed to other types of infection.

25 Q On the basis of these types of tests

1 that we've just discussed, the culture,  
2 the cytology and the fluid analysis, if  
3 you had an infection within a joint, what  
4 -- what type of results would you expect  
5 to see from those tests?

6 A An acute infection or a chronic  
7 infection?

8 Q You -- let's talk about the acute  
9 infection first, and, then, we can talk  
10 about the chronic infection, if there's a  
11 difference.

12 A In an acute infection, one would  
13 expect to see a large number of white  
14 cells in the range of thousands, and most  
15 of those cells would be acute  
16 polymorphonuclear leukocytes, and we're  
17 talking in the range of 75, 80, 90 per  
18 cent, even 100 per cent polymorphonuclear  
19 leukocytes. The sugar or protein could be  
20 elevated or decreased, depending on the  
21 duration of the inflammatory process.  
22 And, in a chronic process, one tends to  
23 see higher protein levels, lower sugar  
24 levels, and lower cell count levels with a  
25 shift to what we call the right, rather

than the left, more chronic inflammatory cells than acute cells.

Q Doctor, are you familiar with a condition known as rheumatoid arthritis?

A Yes.

Q Have you ever treated patients with chronic rheumatoid arthritis?

A Many.

Q What is rheumatoid arthritis, just  
10 briefly for us?

11 A Rheumatoid arthritis is a chronic,  
12 very often disabling, inflammatory process  
13 that destroys joints, primarily, but is  
14 also involved in alterations and  
15 abnormalities in the soft tissues  
16 surrounding and supporting the joints.

17 Q Does the condition of rheumatoid  
18 arthritis have any effect on these tests  
19 that we've just discussed?

20 A It certainly does, because it is  
21 basically an inflammation, and we've been  
22 discussing the manifestations of  
23 infection, which is also an inflammation,  
24 and it may be very difficult to  
25 distinguish at certain stages of the

1 illness between the inflammation caused by  
2 rheumatoid arthritis and the inflammation  
3 caused by an infection that also happens  
4 to be present.

5 Q Doctor, in your practice experience,  
6 have you ever had occasion to treat a  
7 patient who had chronic rheumatoid  
8 arthritis and also had an infected  
9 superficial wound over a joint containing  
10 a prosthesis like a total knee  
11 arthroplasty?

12 MR. KAMPINSKI: Objection.

13 THE WITNESS: Yes.

14 BY MR. ALLISON:

15 Q From an infectious disease  
16 standpoint, is it -- is the possible  
17 involvement of a joint, when you have an  
18 infection over a joint in a patient with  
19 chronic rheumatoid arthritis and a total  
20 knee arthroplasty, is that something that  
21 should be considered by the infectious  
22 disease consultant?

23 A Always, yes.

24 Q When you're called as an infectious  
25 disease consultant by another physician,

1 for instance an orthopedic surgeon, to  
2 examine and treat a patient who has such a  
3 condition we've just described, a chronic  
4 rheumatoid arthritis with an infected  
5 superficial wound over a joint containing  
6 a prosthesis, after you were called in as  
7 a consultant, who becomes responsible for  
8 the care of that patient?

9 MR. KAMPINSKI: Objection.

10 THE WITNESS: You --

11 MR. KAMPINSKI: Just so there's  
12 no confusion, are you asking about  
13 his personal practice or in general?

14 MR. ALLISON: In general.

15 MR. KAMPINSKI: All right.

16 THE WITNESS: In general, when  
17 people are called in consultation, it  
18 is a joint effort on the part of the  
19 consulting and referring physician.

20 BY MR. ALLISON:

21 Q Doctor, you've reviewed certain  
22 medical records and other information  
23 concerning Mr. Cates and his treatment by  
24 the infectious disease physicians at  
25 Cleveland Metropolitan General Hospital in

1 November and December of 1987, and in  
2 January of 1988; is that correct?

3 A That's correct.

4 Q What records have you reviewed,  
5 Doctor?

6 A I reviewed the hospital records for  
7 the admission beginning November the 13th,  
8 1987. I saw the record for the ambulatory  
9 surgery admission on, I believe it was,  
10 December the 22nd or 23rd, and, then, the  
11 record when the patient was readmitted on  
12 the 3rd of January, plus I reviewed  
13 several depositions.

14 Q Who asked you to review that  
15 information?

16 A You did.

17 Q And, did you know me before that  
18 time?

19 A No.

20 Q All right. Did you know any attorney  
21 at -- at my law firm, at Arter & Hadden,  
22 before that?

23 A Yes, I have had contact with other  
24 members of your firm.

25 Q How many, if you recall?



1 | A I don't know, four or five.

2 | Q Did you know, when I sent you the  
3 | medical records and other information for  
4 | review, that I represented Cleveland  
5 | Metropolitan General Hospital and its  
6 | infectious disease physicians and fellows  
7 | and residents and other personnel?

8 | A I believe you made me aware of that.

9 | Q What was it that I asked you to do  
10 | when I sent you that information, Dr.  
11 | Lerner?

12 | A To review the records in the case in  
13 | question, and determine whether or not  
14 | appropriate -- in my opinion appropriate  
15 | medical and surgical care had been  
16 | rendered.

17 | Q Did the knowledge that the fact that  
18 | I represented Cleveland Metropolitan  
19 | General Hospital and its infectious  
20 | disease physicians influence in any way  
21 | your review of the materials in this case  
22 | or the opinions that you formed?

23 | A No.

24 | Q Doctor, have you, or do you have any  
25 | relationship with Cleveland Metropolitan

1 General Hospital?

2 A Yes, I do,

3 Q And, what is that relationship?

4 A Well, when it was still a city  
5 hospital, I was a medical student there,  
6 when it still rained in through the roof,  
7 and, so, I have an emotional attachment to  
8 the institution. But, that's about the  
9 extent of it, except that when I first  
10 returned to Cleveland in the '60's, I did  
11 make rounds on the infectious disease  
12 pavilion for a couple of years; I believe  
13 it was called the Toomey Pavilion, until  
14 things got too busy, and I was no longer  
15 able to do so, I do go over there for  
16 conferences from time to time, as I do for  
17 all hospitals in the area.

18 Q Doctor, does the relationship that  
19 you have had with Cleveland Metropolitan  
20 General Hospital influence in any way your  
21 review of this case or the opinions that  
22 you formed?

23 A I --

24 MR. KAMPINSKI: I'm going to  
25 object, That's up to the jury to decide.

1 THE WITNESS: Absolutely not.  
2 BY MR. ALLISON:  
3 Q Dr. Lerner, from your review of the  
4 information you've been provided in this  
5 case, what was Mr. Cates' condition when  
6 he presented at Cleveland Metropolitan  
7 General Hospital on November 13th, 1987?  
8 A He presented with acute pain and  
9 swelling and inflammation of his knee.  
10 Q That was his right knee?  
11 A The right knee, the site of a  
12 prosthesis. There was also an area of  
13 skin breakdown and drainage.  
14 Q Do you have a recollection of how  
15 long this wound over Mr. Cates' knee had  
16 been present prior to that time?  
17 A It was present for a brief period of  
18 time, a week or so, I believe.  
19 Q Now, as an infectious disease  
20 consultant involved in Mr. Cates' case,  
21 would there be anything in Mr. Cates' past  
22 medical history that would be important in  
23 evaluating Mr. Cates and in treating Mr.  
24 Cates during that admission in November of  
25 1987?

A Yes.

Q And what would that be, Doctor?

A Well, this is a man with a long-standing history of chronic rheumatoid arthritis on much medication, on steroid medication, a patient with a known history of staph infection, a chronic carrier of staph.

Q Is the fact of the prosthesis  
10 something that would be important to total  
11 knee arthroplasty?

12 A Yes.

13 Q Did Mr. Cates have any other types of  
14 draining wounds or sites of the skin being  
15 disrupted at the time of his admission in  
16 November of 1987?

17 A Well, there was -- one examination  
18 by, I believe, one of the infectious  
19 disease people noted a small abscess on  
20 his buttock in addition to the draining  
21 lesion, and there was a story that in the  
22 preceding two weeks he had been -- he'd  
23 had small furuncles or abscesses on other  
24 locations on his body; his foot, I believe  
25 his ear, perhaps one of his toes,

1 Q Doctor, why would these things that  
2 we've just talked about: his history of  
3 rheumatoid arthritis and steroid  
4 treatment, and the prosthesis, the staph  
5 carrier status, and multiple sites of  
6 wounds, why would those things have been  
7 important to an infectious disease  
8 specialist in evaluating and treating Mr.  
9 Cates?

10 A This is a reflection, if you will, a  
11 map to the fact that this man is heavily  
12 colonized with staphylococci on the  
13 surface of his skin.

14 Q Do you recall what the admitting  
15 diagnosis for Mr. Cates was on November  
16 13th?

17 A There was an appropriate suspicion of  
18 an infected knee prosthesis.

19 Q Doctor, is an admitting diagnosis a  
20 tentative diagnosis which is subject to  
21 change based on further evaluation and  
22 testing of a patient?

23 MR. KAMPINSKI: Objection,  
24 leading.

25 THE WITNESS: It has to be

1           tentative because you haven't got all  
2           the information you need to make it  
3           definitive.

4   BY MR. ALLISON:

5   Q       After Mr. Cates was admitted to the  
6   hospital on November 13th of **1987**, what  
7   should have been done to further evaluate  
8   his condition as to any possibility of  
9   infection of his right total knee  
10   arthroplasty?

11   A       Appropriate studies to determine the  
12   extent and nature of his infection.

13   Q       And what would those -- should those  
14   have included, Doctor?

15   A       Careful physical examination, smears  
16   and cultures of any obvious draining  
17   fluid, examination of the fluid within the  
18   joint, X-rays, other blood tests,

19   Q       What type of examinations of the  
20   fluid from the joint would have been  
21   indicated, Doctor?

22   A       Smears and cultures and the  
23   appropriate laboratory analysis that I had  
24   mentioned before.

25   Q       What was done to evaluate Mr. Cates'

1 condition, the possibility of any  
2 infection in his right knee, at the time  
3 he was admitted?

4 A Fluid was aspirated from the knee and  
5 sent for the appropriate studies.

6 Q And that, again, on --

7 A It included culture, cell count, gram  
8 stain, protein and sugar analysis.

9 Q Do you recall what the results of  
10 those tests were, Doctor?

11 A There were several hundred cells in  
12 the fluid. Most of these were lymphocytic  
13 or mononuclear cells. The sugar was low,  
14 and the protein was several hundred,  
15 around 230. The culture was negative.

16 Q Doctor, are those items that you've  
17 discussed indicative of an infection in  
18 Mr. Cates' right knee joint?

19 A They are indicative of inflammation.

20 Q Were any other cultures done on Mr.  
21 Cates?

22 A He had blood cultures drawn. He also  
23 had a culture of the material that was  
24 discharging from the surface of the knee.

25 Q Do you recall whether they did any

1 cultures of any of those other draining  
2 areas that you mentioned, Doctor?

3 A I believe there was a lesion in the  
4 ear that was cultured, and he also had  
5 some cultures of his nasal cavity.

6 Q Were X-rays performed?

7 A Yes.

8 Q Do you recall what the results of  
9 those X-rays were?

10 A The X-ray of the right knee showed no  
11 evidence that the prosthesis was loosened  
12 or in any way displaced.

13 Q Doctor, would the standard of care  
14 for an infectious disease physician in  
15 1987, in a case such as Mr. Cates', have  
16 required that anything else be done to try  
17 and determine whether or not that knee was  
18 infected? Would the standard of care have  
19 required anything else should have been  
20 done?

21 A No.

22 Q Was the diagnosis, the admitting  
23 diagnosis in Mr. Cates' case of -- of a  
24 possible infected right knee joint, was  
25 that ever changed during the course of his



1 hospitalization?

2 MR. KAMPINSKI: Objection.

3 THE WITNESS: Yes.

4 BY MR. ALLISON:

5 Q Is that change documented in the  
6 medical records, Doctor?

7 A I believe it is.

8 Q And do you recall where that change  
9 is documented?

10 A A day or so after he was admitted to  
11 the hospital, they began calling it a  
12 wound infection.

13 Q Do you recall who made that change?  
14 And perhaps you could even refer to the  
15 medical records and find that notation.

16 A There's a note by Dr, Meyer, I  
17 believe, or is that -- yes, an orthopedic  
18 resident, on 11/14/87 --

19 Q What --

20 A -- calling it, "A/P wound infection,  
21 patient on nafcillin."

22 Q What does the "A/P" indicate, Doctor?

23 A Let's see; that's the soap --

24 MR. KAMPINSKI: What page are  
25 you on, Doctor?

1                   THE WITNESS:     This is on --  
2                   MR. ALLISON:     Mine aren't  
3                   numbered.  
4                   THE WITNESS:     These aren't  
5                   numbered.   This is a progress note  
6                   dated 11/14/87.   It's just before the  
7                   first entry of 11/15 in the middle of  
8                   the page.  
9                   MR. KAMPINSKI:   Oh, okay.  
10                  THE WITNESS:     Assessment -- the  
11                  "A" stands for assessment.   The "P"  
12                  escapes me for the moment.  
13   BY MR. ALLISON:  
14   Q            Could it be plan, Doctor?  
15   A            I think so.  
16   Q            And, that assessment and plan --  
17   A            "S" is subjective; "O" is objective;  
18                "A" is assessment; and "P" is plan.  
19   Q            And that indicates that the  
20                assessment and plan was wound infection  
21                and continue antibiotics?  
22   A            Yes.  
23   Q            And that was written by S. Meyer?  
24   A            Correct.  
25   Q            And that's the same individual that

1 wrote the initial admitting diagnosis of a  
2 possibility of a knee infection?

3 A That's correct.

4 Q Doctor, as a result of the testing  
5 and evaluation that was done initially on  
6 Mr. Cates when he came into the hospital,  
7 was any treatment instituted?

8 A Yes.

9 Q And what was that?

10 A He was placed on an intravenous anti-  
11 staphylococcal antibiotic.

12 Q And, do you recall what that  
13 antibiotic was?

14 A Initially nafcillin, and, then, when  
15 the culture results became available, he  
16 was switched to a different drug called  
17 vancomycin.

18 Q Now, what is this organism that Mr.  
19 Cates had a positive culture for from the  
20 superficial wound over his right knee?

21 MR. KAMPINSKI: Objection.

22 THE WITNESS: Staphylococcus  
23 aureus is a common bacteria in our  
24 environment. It's found on the  
25 surface of our body transiently. It's

1           also found in the nasal pharynx, the  
2           nasal and throat tissues quite  
3           commonly. It is an aggressive  
4           organism when it has an opportunity  
5           to breach the body's natural  
6           defenses. If there's a break in the  
7           skin, it will take advantage of it,  
8           particularly in people who have an  
9           underlying problem in fending off  
10          infection. It's also an organism  
11          that is commonly a colonizing  
12          organism, and, therefore, it presents  
13          difficulties in interpreting the  
14          results of a culture of a material  
15          sent to the laboratory which is  
16          strictly a qualitative test. It in  
17          no way can tell you whether or not  
18          the organism growing is causing an  
19          infection or just sitting on the  
20          surface as a saprophytic colonizer.

21 BY MR. ALLISON:

22 Q       Was it appropriate to start Mr. Cates  
23       on nafcillin when he came into the  
24       hospital?

25 A       It was mandatory.

1 Q And was it appropriate to start him  
2 on vancomycin when the results of the  
3 culture from the wound came back?

4 A Once the nature of the organism was  
5 recognized, it was, again, mandatory to  
6 switch him to a different  
7 anti-staphylococcal drug, because the  
8 so-called methicillin-resistant  
9 Staphylococcus is resistant to all of this  
10 category of semi-synthetic penicillins.

11 Q Dr. Lerner, how did Mr. Cates' wound  
12 over his knee progress during his  
13 hospitalization?

14 A There was slow but definite  
15 improvement in all the objective signs of  
16 infection in the tissues surrounding his  
17 knee.

18 Q What were some of those objective  
19 signs, Doctor, that showed improvement?

20 A One thing that happened is that his  
21 low grade temperature, which was present  
22 for most of the first week, had  
23 disappeared by the second week, and as one  
24 reads through the -- the notes each day,  
25 very careful notes I might add, there's a

very clear description of the nature of the drainage, the amount of the drainage, and this changed from a thicker material that had some characteristics of -- associated with infection such as pus, into a thinner material, much more characteristic of the serum drainage simply of an unhealed wound. In addition to that, the swelling and the redness and the edema and the tenderness of the area receded.

Q How long was Mr. Cates on IV vancomycin?

A I think he received antibiotics for a total of about ten to 14 -- ten to 12 days. The vancomycin I think he got for about 14 days.

Q Dr. Lerner, can you have a superficial infection in a wound over a joint without the joint actually being involved?

A Yes.

Q Based upon your knowledge and training and experience as an infectious disease physician, and your review of the

1 materials in this case, do you have an  
2 opinion to a reasonable degree of medical  
3 probability whether Mr. Cates had an  
4 infection within his right knee joint at  
5 any time during his hospitalization from  
6 November 13th through December 2nd of  
7 1987?

8 A I do have an opinion.

9 Q And what is that opinion, Doctor?

10 A There was no infection in that knee  
11 joint at that time.

12 Q And what is the basis of your  
13 opinion, Dr. Lerner?

14 A Well, the studies that were carried  
15 out clearly indicate -- and fluid was  
16 sampled at a time of an acute inflammatory  
17 process. He was -- he had fever and  
18 swelling and redness and pain. I believe  
19 he even had an elevated sedimentation  
20 rate, and, yet, fluid that was taken from  
21 inside the joint reflected only a mild  
22 inflammatory response and did not reflect  
23 an acute bacterial infection sitting in  
24 that joint.

25 Furthermore, the subsequent

1 course of events, the response to the  
2 antibiotic was appropriate and timely and  
3 complete. He was left with a tiny, little  
4 opening in the skin over the joint which  
5 stubbornly refused to close.

6 An attempt was made to mechanically  
7 close that area with a suture, and that  
8 didn't work; that didn't hold. So, when  
9 he returned to the hospital about a week  
10 later, a more aggressive attempt to close  
11 that area was undertaken, and he had an  
12 excision of this little open area, and,  
13 now, a suture was placed, and this  
14 successfully closed off the area.

15 The specimen was submitted to the  
16 laboratory, and it came back with an  
17 explanation for why this lesion had failed  
18 to heal with the previous attempts to  
19 close it with a suture. There was an  
20 inflammatory nodule, a rheumatoid nodule  
21 sitting smack in the middle of this  
22 tissue, and this was the reason that it  
23 was unable to heal in the normal course of  
24 events.

25 Q At the time Mr. Cates was discharged



1 from the hospital on December the 2nd, was  
2 he on antibiotics at that time?

3 A At what date?

4 Q When he was discharged from the  
5 hospital after the first admission.

6 A No, he was not sent home on  
7 antibiotics.

8 Q And the wound in his knee had been  
9 sutured at that time in the hospital?

10 A Yes.

11 Q And what was the condition of the  
12 wound at the time he was discharged?

13 A Well, it still was draining a little  
14 serous material, I understand.

15 Q Is that an indication of infection?

16 A No.

17 a Doctor, was it appropriate in this  
18 case for the infectious disease physicians  
19 who were taking care of Mr. Cates not to  
20 recommend further antibiotics at the time  
21 of his discharge on December the 2nd?

22 A It was appropriate to the -- to the  
23 diagnosis and course of treatment that had  
24 -- they had undertaken. There was no  
25 suggestion or proof at that time that this

1 man had a deep infection in that knee  
2 prosthesis.

3 Q And was it appropriate for the  
4 infectious disease physicians not to  
5 recommend that Mr. Cates' knee be  
6 surgically opened and debrided or that  
7 prosthesis be removed?

8 A Anybody who would recommend that at  
9 that point in time would have been out of  
10 his mind.

11 Q Now, Doctor, there's been some  
12 discussion in this case that there was a  
13 sinus tract present involving Mr. Cates'  
14 knee, Briefly, if you could for us, what  
15 is a sinus tract, first of all?

16 A As it -- as it is used to describe  
17 draining areas in the skin, it represents  
18 a break in the skin with a -- a pathway  
19 down to a deeper structure. In the  
20 particular instance that we're talking  
21 about here, this would be a tract of a  
22 defect in the skin leading all the way  
23 down to a deeper structure, such as the  
24 total knee prosthesis.

25 Q Is there any indication that Mr.

Cates had a sinus tract leading from the wound in the skin over his right knee to any deeper structure?

A None whatsoever.

Q If there had been a sinus tract, would the course of Mr. Cates' condition of his -- of his right knee have been any different during that hospitalization from November the 13th to December the 2nd?

10 A It probably would have been a great  
11 deal different. It might have been only  
12 minimally different, but the key point  
13 that leads me to say -- to state  
14 unequivocally that there was no sinus  
15 tract is what happened from the time of  
16 the excision of the open area in the  
17 clinic on the 22nd of December of 1987  
18 until the week, or eight days later when  
19 he came back on the 30th.

20 This man had been off of antibiotics  
21 for several weeks, and he came into the  
22 clinic with this still little bit of  
23 serous drainage from this open area. It  
24 was cultured on the surface, and the sinus  
25 -- the tissue was excised and a suture was

1 placed. He returned eight days later, and  
2 this area was healed over.

3 If you were to take a suture, and  
4 attempt to close a sinus tract from a deep  
5 structure such as a total knee prosthesis  
6 containing methicillin-resistant staph  
7 organisms without any antibiotic activity  
8 in the environment to suppress those  
9 bacteria, it would be like trying to cap a  
10 volcano. The body simply doesn't react  
11 that way, and there's no way that that  
12 lesion would have healed had there been a  
13 deep sinus tract.

14 As a matter of fact, one would expect  
15 and anticipate an explosive inflammatory  
16 response at the area of the opening with a  
17 very dramatic, acute recrudescence of  
18 inflammation around that knee.

19 Q Doctor, there's also been some  
20 discussion in this case that, based on the  
21 results of certain tests called  
22 erythrocyte sedimentation rate, that other  
23 tests should have been performed or  
24 conducted on Mr. Cates during that  
25 hospitalization.

1                   First, are you familiar with the  
2 test known as an erythrocyte sedimentation  
3 rate?

4       A       Yes, I am,

5       Q       What is that for, Doctor?

6       A       This is a nonspecific but sometimes  
7 helpful measure of inflammation. It  
8 relates to the rate, rapidity, actually,  
9 with which red blood cells settle out in  
10 plasma.

11           A specimen of blood is obtained, put  
12 into a tube, and allowed to sediment for  
13 an hour, and the rate at which that  
14 sedimentation takes place is the end  
15 result, This test, the end result of the  
16 test is a response to inflammation. It  
17 has no specificity 'whatsoever in terms of  
18 infection, and, unfortunately, in this  
19 case, because of Mr. Cates' rheumatoid  
20 arthritis, it had no value whatsoever,

21           Rheumatoid arthritis is a disease that  
22 is characteristically associated with an  
23 elevated sedimentation rate, and,  
24 therefore, in this man, it could not be  
25 followed in any way as a measure of

1 response to treatment,

2 Q So, in your opinion, it wasn't of  
3 value in determining whether he had an  
4 infection or in monitoring his response to  
5 treatment?

6 A It's just of no value in this  
7 particular case. It can't be used.

8 Q Now, it's been stated that, because  
9 of the fact that Mr. Cates had this  
10 erythrocyte sedimentation rate level, that  
11 repeat aspirations or taps on the right  
12 knee joint itself should have been  
13 performed in this case, My question,  
14 Doctor, is: Would the standard of care  
15 for an infectious disease specialist in  
16 1987 have required repeat knee taps or  
17 aspirations in this case?

18 A The standard of care in 1987, or '86  
19 or '**88** or '89, it doesn't matter when it  
20 was; anybody who would have put a needle  
21 back into this man's knee when it was  
22 responding so nicely to the intravenous  
23 vancomycin would have been guilty of very  
24 poor judgment.

25 Q Are there any risks to repeated knee

1 aspiration in a patient in the condition  
2 that Mr. Cates was in?

3 A There's always a risk of putting  
4 bacteria into an area where you don't want  
5 to, and this man was a recognized skin and  
6 nasal carrier of Staphylococcus, and in  
7 him, therefore, an even greater risk.

8 Q Doctor, if a reaspiration or retap  
9 would have been done, do you have any  
10 reason to believe that the results would  
11 have been any different than those which  
12 were obtained the first time that the knee  
13 was aspirated?

14 A No.

15 MR. KAMPINSKI: Objection. Move  
16 to strike.

17 BY MR. ALLISON:

18 Q Are you familiar with a test known as  
19 a Galium scan?

20 A Yes.

21 Q What is that, Doctor?

22 A This is the injection into the  
23 bloodstream of a nuclear tracer which goes  
24 to tissues that are inflamed. It is taken  
25 up by the inflammatory cells in an area of

1 inflammation.

2 Q Would a Galium scan have been of any  
3 value in this case in determining whether  
4 Mr. Gates had an infection within his knee  
5 joint?

6 A Absolutely, unequivocally, no.

7 Q And why is that, Doctor?

8 A Because the Galium scan in the  
9 setting of prosthetic devices; in fact,  
10 all nuclear scanning techniques in the  
11 presence of prosthetic devices have an  
12 inherent shortcoming, which is that the  
13 body is responding at a chronic, low-grade  
14 state to the presence of the foreign body.  
15 So, there's always some degree of an  
16 inflammatory response.

17 If you are interested in finding  
18 out if there's infection deep in the  
19 prosthesis, there is no tissue inside the  
20 prosthesis for you to have a marker as an  
21 indication of the -- of the inflammation.

22 The presence of the soft tissue  
23 inflammation around the knee also would  
24 tend to pick up the Galium. So, if it was  
25 positive, it wouldn't tell you anything,



1 and if it was negative, it wouldn't tell  
2 you anything.

3 Q Would the standard of care of  
4 infectious disease physicians have  
5 required a Galium scan be performed in  
6 this case?

7 A Absolutely not.

8 Q Was two weeks of vancomycin therapy  
9 appropriate to treat Mr. Cates during his  
10 hospitalization from November 13th to  
11 December the 2nd?

12 MR. KAMPINSKI: Objection. Asked  
13 and answered.

14 THE WITNESS: It appears to  
15 have responded to that course of  
16 therapy in terms of their -- their  
17 evaluation of the objective signs of  
18 inflammation.

19 BY MR. ALLISON:

20 Q Now, we've talked, Doctor, about the  
21 surgical revision that was done on  
22 December 22nd of 1987. Could you be a  
23 little bit more specific as to what was  
24 done at that time?

25 A He was taken, I believe, to the -- in

1 an ambulatory surgery setting, and had an  
2 anesthetic applied. A surface -- a  
3 culture of the surface of the wound was  
4 sent to the laboratory, and, then, the  
5 open area of the skin was excised. It was  
6 what we call, probably, saucerized. It  
7 was just removed. And, then, the  
8 uninvolved skin was sutured together.

9 Q Besides the culture that was  
10 conducted on the surface of the wound,  
11 were there any other tests done on the  
12 tissues that were removed?

13 A Yes,

14 Q What was that, Doctor?

15 A The specimen was sent to the  
16 microbiology lab -- to the pathology  
17 laboratory, and it was fixed in,  
18 presumably, Formalin or some other  
19 fixative, and, then, stained and sliced  
20 into thin slices, placed on a microscope  
21 slide, and examined under the microscope.

22 Q Doctor, was there any evidence of  
23 infection in Mr. Cates' right knee joint  
24 on December 22nd of 1987?

25 A You're talking about the joint?

1 Q Yes, sir,  
2 A We're getting away from the skin?  
3 Q Yes, sir.  
4 A Okay. There was no evidence of any  
5 infection inside the joint.  
6 Q How do you know that, Doctor?  
7 A By the total constellation of  
8 information that we have now gathered.  
9 Q What about the fact that there was a  
10 positive culture of the wound's surface?  
11 Does that indicate any deeper infection or  
12 infection in Mr. Cates' knee joint?  
13 A It indicates that the surface of this  
14 open wound in this man who was chronically  
15 colonized with Staphylococcus was growing  
16 a Staphylococcus, which is what the  
17 Staphylococcus does best; takes advantage  
18 of breaks in the skin.  
19 Q Now, you mentioned the term colonized  
20 or -- what is that, Doctor?  
21 A Colonization simply means that the  
22 organism is on the surface of a structure.  
23 It is multiplying, but it's not invading  
24 and harming the deeper tissues.  
25 Q How do you know that this was just a

1 colonization of the surface of this wound  
2 that was excised?

3 A Because the tissue that was excised  
4 showed no evidence of any acute  
5 inflammation. Furthermore, it gave a  
6 perfect explanation for why it had not  
7 healed previously. There was a rheumatoid  
8 nodule sitting in the middle of it.

9 Q And, this tissue examination is that  
10 microscopic examination you explained to  
11 us just before?

12 A The histologic examination where the  
13 tissue is fixed and examined under the  
14 microscope.

15 Q As an infectious disease specialist,  
16 is it routine to treat colonization?

17 A It's not only not routine to treat  
18 colonization unless there's a reason to do  
19 so; it's very difficult to treat  
20 colonization.

21 Q Is there any reason to treat the  
22 colonization in this case?

23 A No.

24 Q Is there anything else about Mr.  
25 Cates' continued course from September the

1 22nd, 1987 to the time of about December  
2 the 30th of 1987 that indicates that he  
3 did not have any infection within this  
4 joint?

5 A Well, he returned for a follow-up  
6 visit, and a note indicated that the wound  
7 had healed, and he was not offering any  
8 new complaints; that is, the little wound  
9 that had been excised and, then, sutured,

10 MR. KAMPINSKI: I'm sorry. When  
11 was that question about -- directed?

12 THE WITNESS: On the 30th.

13 MR. ALLISON: The 30th.

14 MR. KAMPINSKI: I'm sorry.

15 BY MR. ALLISON:

16 Q Doctor, at the time that Dr.  
17 Matejczyk saw Mr. Cates for his wound  
18 check on December 30th, 1987, there's been  
19 testimony that she contacted someone in  
20 the infectious disease service about  
21 whether to prescribe antibiotics for Mr.  
22 Cates and was told, essentially, that no  
23 antibiotics would be necessary if the  
24 wound was fine.

25 My question for you, Doctor, is:

Is it within the standard of care for an infectious disease physician to provide that type of information to an attending orthopedic surgeon in the situation that we have present in this case without seeing the patient?

MR. KAMPINSKI: Objection.

THE WITNESS: Yes, perfectly adequate.

10 BY MR. ALLISON:

11 Q And why -- why is that, Doctor?

12 A Well, this is a joint effort on the  
13 part of physicians caring for the patient,  
14 and one arrives at a data base where it is  
15 possible to react to a query without a  
16 formal examination of the situation.

17 The fact of the matter is that one of  
18 the chief functions of an infectious  
19 disease specialist is to interpret to the  
20 nonspecialist the significance of a  
21 positive culture. As I stated at the  
22 outset, there are many situations in which  
23 pathogens are colonizing on the surface  
24 causing no problems and need not be the  
25 object of an antibiotic attack prescribed

by the physician. Not only is it unnecessary and expensive; it is potentially dangerous, and very likely to be unsuccessful.

The antibiotics that treat infection are notoriously inadequate for clearing the colonization of an organism. The reason for this is: There's no real interaction between the host and the organism.

10  
11 Q So, it was appropriate to provide  
12 that advice in this case?

13 A The wound had healed up completely,  
14 and there was nothing to treat.

15 Q Now, Doctor, in your practice of the  
16 specialty of infectious diseases, have you  
17 had occasion to work with orthopedic  
18 surgeons?

19 A Frequently.

20 Q And, in your experience, are  
21 orthopedic surgeons qualified to determine  
22 whether a surgical wound is healing  
23 without signs of infection?

24 A I believe any surgeon is qualified to  
25 estimate whether a wound is -- a given

1 wound is healing, be it an orthopedic  
2 surgeon or any other surgeon.

3 Q And why was it that you believed this  
4 wound didn't heal and had to be reclosed  
5 on December the 22nd?

6 A The excised tissue revealed a  
7 rheumatoid nodule sitting right in the  
8 middle, apparently, and once that was  
9 removed, the tissue healed over.

10 Q What is a rheumatoid nodule, Doctor?

11 A Rheumatoid arthritis, as we mentioned  
12 before, is an inflammatory process that  
13 affects the cartilage of joints and the  
14 soft tissue structures supporting joints,  
15 and it represents an -- an abnormality or  
16 a defect in the skin because of the  
17 inflammation.

18 It's -- it's just like -- it behaves  
19 like an infection, if you will, because it  
20 produces abnormal tissue that represents a  
21 -- a barrier to the normal functioning of  
22 that tissue. If you were attempting to  
23 close a defect in the skin, and you were  
24 closing an inflammatory -- or if you were  
25 bringing normal skin in contact with an



inflammatory nodule, you don't have two healthy pieces of tissue to stick to each other, and that's why the first suturing failed, and the second one worked perfectly.

Q Now, Doctor, you know that Mr. Cates returned to the hospital on January the 3rd, 1988, and he had an infection in his bloodstream and other areas, and also  
10 infections in both of his knees. Do you  
11 have an opinion to a reasonable degree of  
12 medical probability whether the origin of  
13 all of these infections was the right  
14 knee?

15 A I have an opinion.

16 Q And what is that opinion, Doctor?

17 A The knee was not the source of this  
18 infection.

19 Q And what's the basis for that  
20 opinion?

21 A Again, we have both the systemic  
22 information and the knee information. We  
23 now have new information. This knee was  
24 opened up 48 hours after Mr. Cates  
25 reentered the hospital in January, and

.....

1 this was now the 5th of January, and his  
2 symptoms had begun at the beginning of  
3 November, because he came in on the 13th  
4 of November complaining of two weeks of  
5 difficulty and swelling in that knee.

6         So, we now have a nine-week period of  
7 time where the question of an infected  
8 knee is being raised. The examination of  
9 the knee at the time it was drained of pus  
10 from the acute process that was involving  
11 the other knee, his heart, his nervous  
12 system, the systemic infection revealed no  
13 evidence of loosening of the prosthesis,  
14 no inflammation of the bone cement  
15 interface. Furthermore, there's no  
16 description whatsoever of a sinus tract  
17 going into the knee.

18                 Now, I don't know what else we  
19 need to establish the fact that this was  
20 not infected, and if there's any question,  
21 all you have to do is go back and look at  
22 the tissues.

23 Q         Doctor, is there any way to say where  
24 this infection in his bloodstream came  
25 from?

1 A It's almost certain that it came from  
2 another focus of infection on the surface  
3 of his body. Whether it got in through  
4 one of these little abscesses that he had  
5 on his skin or his foot, or got in through  
6 the respiratory tree, it's difficult to  
7 say, but it did not get in through that  
8 right knee, because this would not be the  
9 pattern of -- of pathology or evolution of  
10 the infection.

11 If the right knee was the source  
12 of the infection, it would not have healed  
13 at the end of December. It would have  
14 exploded by itself and been a primary  
15 focus of infection in and of itself when  
16 he came back into the hospital. It was  
17 part and parcel of a generalized septic  
18 picture.

19 Q Doctor, do you have an opinion to a  
20 reasonable degree of medical probability  
21 as to whether the care rendered to Mr.  
22 Cates by the infectious disease physicians  
23 and fellows and residents and all of the  
24 other personnel in the Cleveland  
25 Metropolitan General Hospital, from

1 November 11th, 1987 to the time that he  
2 was readmitted to the hospital on January  
3 3rd of 1988, was appropriate and in  
4 accordance with the acceptable standard of  
5 care?

6 A I have an opinion.

7 Q And what is that opinion, Doctor?

8 A It was completely appropriate at  
9 every step of the way.

10 Q And the basis for that opinion,  
11 Doctor?

12 A Is the -- the situation that we have  
13 described is a patient with a significant  
14 underlying inflammatory disorder of his  
15 joint; comes into the hospital with a  
16 superficial infection of the knee,  
17 appropriately suspected at the beginning  
18 of representing something more serious and  
19 deep, deeper than what the surface  
20 appeared to show; following which  
21 appropriate studies to investigate whether  
22 or not there was infection within the knee  
23 were carried out. He was treated for a  
24 superficial infection, which was then the  
25 appropriate diagnosis, and he responded to

1 that treatment. Because there was a small  
2 area of open skin that continued to drain  
3 some serous material, an attempt was made  
4 to close that with a suture. That failed.  
5 When that failed, a further attempt was  
6 made to close this, this time by excising  
7 tissue, getting a culture, and trying to  
8 explain why this wouldn't close.

9 It then closed over very nicely, and  
10 the tissue submitted to the bacteriologist  
11 -- to the pathology laboratory showed no  
12 evidence of acute inflammation, and it  
13 showed a rheumatoid nodule which explained  
14 why this superficial lesion was open in  
15 the first place and didn't close on the  
16 first admission.

17 Q Doctor, would you agree with the  
18 plaintiffs' expert, Dr. Levine, that the  
19 care provided to Mr. Cates during his  
20 hospitalization which began on January the  
21 3rd of 1988 by the -- all the personnel at  
22 Cleveland Metropolitan General Hospital,  
23 including the infectious disease service,  
24 was exemplary?

25 MR. KAMPINSKI: Objection.

1 THE WITNESS: From which date?  
2 BY MR. ALLISON:  
3 Q From January 3rd.  
4 A Yes, I would agree.  
5 MR. ALLISON: Thank you. I  
6 have nothing further at this time.  
7 MR. KAMPINSKI: Go off the record  
8 for a moment.  
9 CROSS-EXAMINATION OF PHILLIP LERNER, M.D.  
10 BY MR. KAMPINSKI:  
11 Q Doctor, my name is Charles  
12 Kampinski. I represent Mr. Cates, sir. Did  
13 you indicate earlier, Doctor, that you did  
14 not have any connection currently with  
15 Metropolitan General Hospital? Was that  
16 what you said?  
17 A I have no official connection with  
18 the hospital,  
19 Q All right, because you had given me  
20 your CV the other day when I took your  
21 deposition that had an open-ended  
22 reference to Metro. It indicated you  
23 taught there; is that correct?  
24 A The Metropolitan General Hospital is  
25 one of the teaching hospitals affiliated

with the medical school. I'm on the full-time faculty at the medical school.

Q At Case Western?

A At Case Western Reserve University.

Q I see,

A There are five full-time affiliated hospitals, and I do teaching at each of these hospitals. In 1968, I had an official appointment for my teaching purposes when I went over there on a regular basis to teach one month out of the year on the infectious disease pavilion.

14 Q Uh-huh.

15 A Somewhere over the years that faded  
16 away, and I don't know the termination  
17 date. Currently, credentialing requires a  
18 reappointment each year if you are -- have  
19 an active staff appointment. I continue  
20 to have an active staff appointment, for  
21 example, at University Hospitals because I  
22 teach over there on a much more regular  
23 basis. I go to the Metro for conjoint  
24 conferences. I occasionally give a grand  
25 rounds discussion.

Q But you don't teach there on any regular basis, then?

A No, no.

Q You do affiliate, however, with people in the infectious disease department there; would that be a fair statement?

A The infectious disease community in the entire city is a close-knit group and  
10 we work together at many levels, both  
11 clinically and in research.

12 Q Okay, and that would be yourself with  
13 the attendings at Metro?

14 A It could depend on various levels.  
15 There may be fellows who have a research  
16 project that might fall within my area of expertise; so, they might contact me.

18 Q All right. The infectious disease  
19 individuals, physicians, and I think they  
20 -- were any of them, by the way, anything  
21 other than residents and fellows during  
22 the November hospitalization?

23 MR. ALLISON: I'm sorry. What  
24 was the question, Chuck?

25 BY MR. KAMPINSKI:



1 Q During the November hospitalization,  
2 were any of the infectious disease people  
3 taking care of Mr. Cates anything other  
4 than residents and fellows?

5 A I'm sure at some point in time, there  
6 was an attending.

7 Q Could you show me a note by an  
8 attending in November?

9 A I don't recall. Do you remember  
10 where Dr. Tomford's note was? There was  
11 at some point in time, I believe Dr.  
12 Tomford wrote a note on this patient.

13 Q In November?

14 A I don't remember when it was.

15 Q Well, I mean, if it's there, I'd like  
16 you to tell me.

17 A Well, I'd be happy to go through the  
18 chart.

19 Q Sure, because I couldn't find  
20 anywhere where there was an attending who  
21 saw him in November of '87.

22 A There's a note on November 17th by  
23 Dr. Bender: "Will discuss culture results  
24 with Dr. Tomford."

25 Q So, he's saying he'll talk to

Tomford.

A Well, there's a mention of Dr.  
Tomford there.

Q When you see a patient as an  
attending, by the way, you put a note in;  
don't you?

MR. ALLISON: Objection.

THE WITNESS: Yes,

BY MR. KAMPINSKI:

10 Q I'm sorry. You were looking to see  
11 if he saw him.

12 A I don't see a note by Dr. Tomford,  
13 no.

14 Q So, you were mistaken when you  
15 thought there was?

16 A No, I didn't say -- I didn't say I  
knew which admission he had signed the  
18 note.

19 Q Okay. Well, certainly, it wasn't the  
20 November admission.

21 A It does not appear to be,

22 Q And, who were the attending  
23 infectious disease physicians at Metro in  
24 1987?

25 A Well, Dr. Tomford was one. Dr.

1 Spagnuolo was another. Dr. Wolinsky; I  
2 think Dr. Frengley, Dermitt Frengley was  
3 still attending on infectious disease.

4 Q Okay, and by the way, there's no  
5 notes from any of the others in there?

6 A No, I didn't see any,

7 Q You're -- you're friends with all of  
8 these individuals?

9 A I know them all, yes.

10 Q As a matter of fact, you have even  
11 written papers with some of them; haven't  
12 you?

13 A As I mentioned before, we have done  
14 cooperative research,

15 Q And these have been published  
16 together with you and they as the authors  
17 on them?

18 A We've published papers in order to  
19 publish large series of cases sharing the  
20 experiences of the different hospitals.

21 Q So, if I were to look a paper up, for  
22 example, on the CV, it would have your  
23 name and their name as authors?

24 A There are papers that include the  
25 authors of these other institutions, yes.

1 Q Are you also involved in this  
2 close-knit community with some of the  
3 residents or fellows who were involved in  
4 the care of Mr. Cates?  
5 A I know Dr. Blinkhorn.  
6 Q Okay, and he was one of the  
7 infectious disease what? Fellows at the  
8 time?  
9 A I assume he was a fellow at the time.  
10 Q All right. When you indicated  
11 earlier that, and I think you said that  
12 you had had contact with other members of  
13 the firm of Arter & Hadden, what you meant  
14 was you had testified for other members of  
15 that firm, correct?  
16 A Again, I don't keep a specific record  
17 of every encounter I have with a lawyer.  
18 I will tell you that I have reviewed cases  
19 for members of the firm.  
20 Q Of Arter & Hadden?  
21 A Of the firm. I don't remember  
22 whether I've testified for them, written  
23 reports, given depositions. All I'm  
24 telling you is that I have reviewed cases  
25 for them.

1 Q Okay. You indicated, Doctor, that,  
2 and you were very careful in your wording  
3 throughout your testimony, direct  
4 testimony, indicating that the treatment  
5 was appropriate if, in fact, this  
6 particular infection was superficial in  
7 nature; is that correct?  
8 A Correct.  
9 Q All right. Now, if, in fact, it was  
10 a deep knee wound; that is, in the  
11 prosthesis, into the knee joint itself,  
12 then, the treatment would have been  
13 inappropriate; would that be a fair  
14 statement?  
15 A It would have been incorrect.  
16 Q Well, and inappropriate.  
17 A No, it would have been incorrect.  
18 There's a difference between inappropriate  
19 and incorrect.  
20 Q All right. We'll do it your way,  
21 then. It would not have been correct?  
22 A That's correct.  
23 Q All right, and it would have been  
24 below the standard of care; wouldn't it,  
25 to treat this particular problem --

16:53:55

16:54:43

Stop

1 A No. What would have been below the  
2 standard of care would have been to  
3 knowingly treat a deep wound infection --  
4 a deep knee infection the way it was  
5 treated.

6 Q Okay, *so*, even if you don't know but  
7 you should know, that's okay; is that what  
8 you're saying -- telling this jury?

9 A Could know.

10 Q All right, well, in terms of could  
11 know, that would require one to have a  
12 high level of suspicion and to do the  
13 correct tests, right?

14 A At all times, gather the appropriate  
15 information and act upon that information.

16 Q All right, which is, by the way, what  
17 you tried to do after the fact by going  
18 through the records and the depositions,  
19 correct?

20 A It's the only way one could reach a  
21 conclusion.

22 Q Right, because you weren't there; you  
23 didn't see him; you don't know what the  
24 knee looked like.

25 A None of us were.

16:55:03

Start

1 Q All right, so, how do you gather that  
2 information? I mean, you look through the  
3 records?

4 A Well, you **look** through the records,  
5 and you hope that you find enough  
6 information in the records to permit you  
7 to reach a conclusion. Now, there are  
8 many records that are incomplete, and many  
9 times I will tell a lawyer who asks me to  
10 review a record: I cannot answer your  
11 question for you either way, In this  
12 particular instance, this is a superbly  
13 documented, daily chronicle of everything  
14 that happened to this patient.

15 Q Okay, and when you look at this  
16 information, you look at all the  
17 information; don't you, not just part of  
18 it?

19 A Correct.

20 Q And you don't just look at the  
21 information that might be helpful to  
22 reach, or to assist you in reaching a  
23 certain conclusion.

24 A You can't --

25 Q Let me finish. I mean, you would

16:56:16

look at all information, even if it was harmful to the conclusion that you would want reached; would that be correct?

A The facts cannot be changed.

Q Right.

A The facts are the facts.

Q And, that's -- you're talking now about medical facts.

A Well, we're dealing with a surgical case. So, we're talking about medical and surgical facts.

Q All right, and that's, as you described earlier, what you want the case to be decided on as opposed to what you called the legal contortion, correct?

A I think I used a different word.

Q I think you used legal contortion.

A Well, all too often, the process by which these cases are adjudicated is an attempt to distort the facts or make capital of small, isolated bits of information which to the laymen appear to be inappropriate to a given argument.

Now, obviously, each side is trying to make a point, and the fact that I am

16:56'S  
56



1 willing to stake my reputation on this  
2 case that there was no infection in this  
3 knee joint means that I am 100 per cent  
4 certain, and I wouldn't be here today if I  
5 didn't think so.

6 Q Do you ever lose in cases where  
7 you've testified?

8 A I have no idea.

9 Q You mean, you're not told afterwards?

10 A Well, lawyers are notorious for not  
11 following up sometimes with physicians.

12 Q SO, you --

13 A I do know that cases have been  
14 settled inappropriately from my point of  
15 view --

16 Q Well --

17 A -- because -- because they didn't  
18 feel that it was fiscally appropriate to  
19 proceed with a trial.

20 Q Well, even if you lose, Doctor, or if  
21 you have lost in the past, I mean, I  
22 assume you still have your reputation.

23 MR. ALLISON: Objection.

24 Objection to this whole line of  
25 questioning. This whole line of

16:58:48

1 questioning is absolutely --

2 THE WITNESS: First of all,  
3 I'm not the one to lose --

4 MR. ALLISON: Excuse me one  
5 moment, Doctor.

6 MR. KAMPINSKI: You just said  
7 --

8 MR. ALLISON: I --

9 MR. KAMPINSKI: -- you  
10 objected to this whole line of  
11 questioning. If you've got an  
12 objection, make it.

13 MR. ALLISON: This whole line  
14 of questioning is absolutely  
15 irrelevant. It has absolutely  
16 nothing to do with any of the issues  
17 in this case, and I mean any of them.  
18 I will lodge now a continuing  
19 objection to any further questions  
20 along this line, and if at some point  
21 it continues to go on, I will just  
22 simply instruct the doctor to quit  
23 answering the questions.

24 BY MR. KAMPINSKI:

25 Q All right. So, a legal contortion,

16:58:06

1 as you referred to it in the direct  
2 examination, is what? The jury being  
3 wrong?

4 MR. ALLISON: Objection.

5 BY MR. KAMPINSKI:

6 Q Is that a legal contortion?

7 A A jury is not a legal entity. The  
8 jury is part and parcel of the process by  
9 which a decision is reached.

10 a Okay, and you are here to assist them  
11 in assuring that no injustice occurs, and  
12 that they decide the case based on the  
13 medical facts as you perceive them,  
14 correct?

15 MR. ALLISON: Objection,

16 THE WITNESS: I am here to try  
17 and point out to a group of laymen  
18 what the facts are in a complicated,  
19 scientific arena in which they have  
20 no basis whatsoever on their -- in  
21 their background for reaching a  
22 decision except by the contortions of  
23 the lawyers on either side.

24 BY MR. KAMPINSKI:

25 Q Okay. One of the things jurors can

1 do --

2 A And I --

3 Q -- is look at records, right, just  
4 like you did?

5 A No, jurors cannot look at records the  
6 way I can because they don't have the  
7 experience and the background and the  
8 expertise to interpret what they see.

9 Q Okay. They can interpret whether or  
10 not somebody is being honest and fair and  
11 forthright, though, right?

12 MR. ALLISON: Objection.

13 THE WITNESS: I have no way of  
14 knowing what a juror can divine from  
15 someone's motives, which you're  
16 implying, if they're being honest and  
17 correct.

18 BY MR. KAMPINSKI:

19 Q Sure. Whether they're just picking  
20 and choosing facts to support an opinion  
21 or whether they're analyzing all facts in  
22 an effort to be fair, that's something  
23 that you would expect they could do.

24 MR. ALLISON: Objection, this  
25 case is not about the jury. If you

1 want to continue this line, I'm going  
2 to tell the doctor not to answer. If  
3 you would like to call Judge Bernside  
4 right now, then do it.

5 BY MR. KAMPINSKI:

6 Q Good. Go ahead, Doctor. Go ahead,  
7 you can answer.

8 A Answer what?

9 Q My question.

10 A I'm not going to answer your question  
11 because I've been instructed not to do so.

/(.5)://

12 MR. KAMPINSKI: And under what  
13 authority do you tell a nonparty  
14 witness not to answer a question,  
15 sir?

16 MR. ALLISON: When the  
17 questions are absolutely and totally  
18 irrelevant.

19 MR. KAMPINSKI: Who decides  
20 that, you or the judge?

21 MR. ALLISON: Which is why I  
22 asked you to please pick up the  
23 phone.

24 MR. KAMPINSKI: Excuse me.  
25 Without a ruling from -- without an

1           answer from the doctor, how is the  
2           judge going to decide? I mean, one  
3           of the nice things about video is  
4           that, if it is an inappropriate  
5           response or question, it can be  
6           excised.

7           MR. ALLISON: Like I said, if  
8           you would like to call the judge --

9           MR. KAMPINSKI: Sure, I'll be  
10          happy to call the judge. Let's go  
11          off the record.

12          (At this time a discussion was held  
13          off the record.)

14          MR. KAMPINSKI: Let's go back on  
15          the record.

16          MR. ALLISON: How long do you  
17          anticipate this particular line of  
18          questions to go on?

19          MR. KAMPINSKI: Until I'm done  
20          asking.

21          MR. ALLISON: Doctor, to the  
22          best of your ability to answer --  
23          well, wait until we're back on the  
24          record.

25          MR. KAMPINSKI: First, let the

1 record show that, you know, I did  
2 attempt to call the court, and it's  
3 now 5:05, and of course there's  
4 nobody there,

5 MR. ALLISON: Actually, it's  
6 5:02, which is about two minutes  
7 after we went off the record before,  
8 but I agree; there doesn't appear to  
9 be anybody there.

10 MR. KAMPINSKI: All right, Now,  
11 can we get an answer from the doctor  
12 so we can move on?

13 MR. ALLISON: Well, as I said,  
14 I totally object to this entire line  
15 of questioning. To the extent that  
16 the doctor is able to answer what I  
17 believe are totally irrelevant  
18 questions which I will move to be  
19 struck, he may go ahead and try to do  
20 so.

21 BY MR. KAMPINSKI:

22 Q Do you remember the question, Doctor?

23 A No, I don't.

24 Q All right. Would you read it back,  
25 please.

17:03:41

1 (At this time the previous  
2 question was read back by the  
3 court reporter.)

4 MR. ALLISON: Objection,

5 THE WITNESS: Are we talking  
6 about the jury now?

7 MR. KAMPINSKI: Let's go back  
8 on.

9 BY MR. KAMPINSKI:

10 Q Yes, we're talking about the jury.

11 A Could you ask me the question again,  
12 please?

13 Q Yes. In terms of what a legal  
14 contortion is as testified to by you on  
15 direct examination, Doctor, you wouldn't  
16 consider a legal contortion a jury being  
17 able to analyze whether or not a physician  
18 such as yourself was picking and choosing  
19 medical facts to support a particular  
20 opinion or whether he was basing his  
21 opinion based on all the evidence in the  
22 record?

23 MR. ALLISON: Objection.

24 THE WITNESS: You haven't asked  
25 me what I meant by -- when I used the

17:05:35



1 term, legal contortion.

2 BY MR. KAMPINSKI:

3 Q I think I did, and I think you told  
4 me, and I'm asking you if what I just  
5 asked you is part of that,

6 A No.

7 Q Okay. Doctor, a few moments ago, I  
8 was somewhat taken aback when you said  
9 that you did not agree that a deep knee  
10 infection, if, in fact, it was present in  
11 Mr. Cates -- well, let me withdraw that.

12 A few moments ago, I asked you,  
13 if Mr. Cates had a deep knee infection,  
14 would the treatment that was rendered to  
15 him have been appropriate, and you said  
16 you don't agree that it would be  
17 inappropriate; you agree it would be  
18 incorrect. Have I stated that correctly?

19 A More or less.

20 Q Well, I -- I don't mean to paraphrase  
21 you, because you made a distinction  
22 between appropriate and correct,

23 A Yes. The correct treatment would  
24 have been what was necessary for the  
25 condition that existed.

1 Q Okay.

2 A The appropriate treatment was the  
3 treatment that was rendered based on the  
4 information that the physicians were  
5 working with. Now, if the information  
6 that the physicians had didn't lead them  
7 to the proper diagnosis, then, their  
8 treatment was still appropriate, but it  
9 wasn't correct.

10 Q Doctor, we're here on September 6th,  
11 1991, and your video deposition is being  
12 taken so that it can be shown to the jury.  
13 Now, I had an opportunity to take your  
14 deposition on September the 3rd, 1991,  
15 just three days ago. Do you recall that,  
16 sir?

17 A Yes.

18 Q And you were sworn in; you were put  
19 under oath at that time. Do you recall  
20 that?

21 A Yes.

22 Q You were sworn to tell the truth, the  
23 whole truth and nothing but the truth; do  
24 you remember that?

25 A Yes. I do.

17:07:24

1 | Q Do you recall the following questions  
2 | and the following answers?

3 | MR. ALLISON: Page, please?

4 | BY MR. KAMPINSKI:

5 | Q Page 30, line 14. I asked you the  
6 | following question: "If Mr. Cates had a  
7 | deep knee infection as opposed to a  
8 | surface infection, was his treatment  
9 | appropriate in your opinion?"

10 | Mr. Allison interjected and asked:  
11 | "At what point in time, sir? "

12 | I then said, "Between November and  
13 | January, November of 1987 and January of  
14 | 1988?"

15 | Your answer was: "If he had a deep  
16 | knee infection?"

17 | Question: "Yes, sir."

18 | Answer by you under oath three days  
19 | ago, quote: "Then the treatment he got was  
20 | not appropriate."

21 | Do you remember that question,  
22 | or do you remember that answer, Doctor?

23 | A I remember it, and this is part of  
24 | the legal shenanigans that I indicated to  
25 | you at the beginning, an attempt to trap

me with a single word by asking a question in a different way.

17:08:48

Q You know, sir, I asked you the same question a few minutes ago. You're the one that made the distinction between appropriate and correct, not me. So, you're the one that's playing word games, Doctor.

A Please don't yell at me.

10 Q All right.

11 A I do not take lightly your yelling at  
12 me. I'm here to present the medical facts  
13 in a case. I'm not here to fall into one  
14 of your traps to attempt to get me to  
15 appear to contradict myself.

16 Q Well, you did contradict yourself, Doctor.

18 A No, I don't think I contradicted  
19 myself. You asked a question in a  
20 different format, and I responded  
21 appropriately to the question the other  
22 day, and I responded appropriately to the  
23 question today.

24 Q Well, was the treatment appropriate  
25 if, in fact, he had a deep knee infection

17:09:30

1 between November and January -- November  
2 of '87 and January of '88?  
3 A I stand by the answer that I've  
4 already given you.  
5 Q Which one?  
6 A You can read them off the record.  
7 Q Which record?  
8 A She's got it on there.  
9 Q I just read it off your other sworn  
10 testimony. Which one would you like us to  
11 use?  
12 A I would like you to use the question  
13 -- the response that answers the question.  
14 Q Which one is that?  
15 A That's your problem, not mine. I  
16 know what I said.  
17 Q Doctor, you also said under direct  
18 examination that there was no evidence of  
19 inflammation in the slide that was taken  
20 December 22nd -- or the smear that was  
21 taken December 22nd of 1987.  
22 MR. ALLISON: Objection.  
23 BY MR. KAMPINSKI:  
24 Q Do you remember that testimony?  
25 A What smear?

17:10:05

1 Q Well, during the operation on  
2 December 22nd. Well, you're right. There  
3 was no smear, right?

4 A There was a culture. There was no  
5 smear. There was a pathology specimen  
6 from the tissue.

7 Q Yes, and I think your testimony was  
8 that, based upon that pathology specimen,  
9 there was no evidence of inflammation.

10 MR. ALLISON: Objection.

11 THE WITNESS: There was no  
12 evidence of acute inflammation.

13 BY MR. KAMPINSKI:

14 Q Do you have that question and answer  
15 marked, court reporter? Could you read  
16 back that question, and could you read  
17 back that answer that the doctor gave  
18 under direct examination, please, on the  
19 record?

20 (At this time the previous  
21 question and answer were read  
22 back by the court reporter.)

23 BY MR. KAMPINSKI:

24 Q All right.. Would you point out to  
25 me, Doctor, where in the pathology slide

1 it says: No acute inflammation?  
2 A I'll be happy to read it for you.  
3 Q Well, it says that there --  
4 A I will read you the report, okay? May  
5 I do that?  
6 Q No, you don't have to read the whole  
7 report. It's going into evidence.  
8 A Why can't I read the report in  
9 response to your question?  
10 Q Does it show evidence --  
11 A You are asking me the question, and I  
12 am attempting to answer it.  
13 Q You want to read the whole report and  
14 knock yourself out?  
15 A No, I'm going to read that part of  
16 the report that supports the response I'm  
17 giving you. Is that something I'm  
18 permitted to do?  
19 Q Whatever is fair to you, sir, go  
20 ahead.  
21 A But you don't object to that?  
22 Q Would it matter?  
23 A I don't think so. Have I lost it?  
24 Here we go.  
25 Q Beg your pardon? Beg your pardon,

sir?

A Here, The description of the pathology report submitted on the 22nd of 1987 signed by the pathologist shows, "Fragments of skin, fibroconnective tissue and synovium with chronic inflammation, fibrosis, rheumatoid nodule formation, and mild perivascular mononuclear infiltrate.

Note: No active acute vasculitis is

10 seen." There's no evidence of acute  
11 inflammation, and there's no evidence of  
12 acute vasculitis.

13 Q All right. "Evidence of chronic  
14 inflammation."

15 A It says, "Chronic inflammation of the  
16 synovium."

Q Well, that means, and I think you  
18 testified that inflammation is a response  
19 to infection, or can be a response to  
20 infection.

21 A Inflammation is a response to injury.  
22 One of the things that causes injury is  
23 infection.

24 Q All right, So, that chronic  
25 inflammation can, in fact, be a sign of



1 the existence of -- of infection, correct?

2 A In a man with rheumatoid arthritis,  
3 it cannot be used as a sign of anything,  
4 because the basic process in this man's  
5 joints is inflammation, and that's exactly  
6 what is described here. There's -- no  
7 matter how you slice this, Mr. Kampinski,  
8 they are describing for you changes  
9 related to his basic disease.

10 Now, you claim that I am biased  
11 because I have a relationship with  
12 colleagues at the Cleveland Metropolitan  
13 General Hospital. I didn't write this  
14 report. I didn't do the biopsy. I'm  
15 attempting to interpret the results to a  
16 group of laymen. No matter how you slice  
17 it, this does not support your position.  
18 I'm very sorry.

19 Q You know, does acute -- the absence  
20 of acute inflammation prove something,  
21 then? Is that why you brought that up?

22 A Absolutely, absolutely.

23 Q I see. So, chronic inflammation  
24 means nothing?

25 A You are attempting to --

1 Q Is the answer yes or no? It means  
2 nothing; is that right?

3 A No, that's not -- you asked me a  
4 question, and I'm going to answer the  
5 question, You are attempting to link the  
6 surface culture with methicillin-resistant  
7 Staphylococcus to an active infection with  
8 that Staphylococcus in this tissue going  
9 into the total knee, and I am simply  
10 trying to point out to all of you laymen  
11 and to the jury that's going to witness  
12 this videotape, I hope, that if there was  
13 infection from that Staphylococcus through  
14 this tissue into the knee joint, there  
15 would be acute inflammatory cells present,  
16 polymorphonuclear leukocytes, and there is  
17 no evidence -- it doesn't even say acute  
18 and chronic. It says "chronic."

19 Q Where **is** the stain or the analysis  
20 that would tell us whether or not there's  
21 polymorphonuclear leukocytes?

22 A It's sitting in the pathology  
23 department of the Cuyahoga County  
24 Hospital.

25 Q No, I mean --

1 A Specimen number 10414 S87, and if you  
2 have any question about it, we can get  
3 some blown-up pictures made of that, and  
4 we can demonstrate that --

5 Q Excuse me.

6 A -- to the people who are interested  
7 in knowing the truth.

8 Q You know, I asked you that in your  
9 deposition, whether or not it would assist  
10 us to -- to get those cultures and to  
11 analyze them.

12 A No. We're not talking about  
13 cultures.

14 Q I'm sorry.

15 A I'm talking about the tissue,

16 Q Right, to get the tissue and  
17 determine whether or not we could culture  
18 the bacteria. You said we couldn't do it.

19 A You're talking about two different  
20 things, Mr. Kampinski, and I do not like  
21 your attempt, once again, to obfuscate the  
22 issues. In my deposition, the discovery  
23 deposition --

24 Q Yes, sir.

25 A -- which was taken three days ago,

1 you cited the date; you talked about an  
2 attempt to culture the bacteria from that  
3 tissue.

4 Q I asked if you could do that.

5 A I'm not finished with my answer.

6 Q Sure.

7 A And I responded to you that we could  
8 not do that because this tissue has been  
9 fixed, and it's not possible to grow  
10 bacteria. We are now talking about an  
11 entirely different test on this tissue,  
12 and that test would be to get these slides  
13 or the blocks from them, make pictures,  
14 and show the actual inflammatory response  
15 to the people at this trial, and show them  
16 that there is no acute inflammatory cell  
17 response in that tissue.

18 Q Could you have done a culture on  
19 December 22nd of that tissue?

20 MR. ALLISON: Objection.

21 BY MR. KAMPINSKI:

22 Q I mean, could one have been done?

23 A It could have been done, yes.

24 Q Well, I mean, what would that have  
25 shown the physicians, assuming they wanted

1 the information? Would it have told them  
2 whether or not there was a --

3 A Do you want me to answer on the basis  
4 of what I know the specimen contained, or  
5 prior to that time? They took a culture  
6 from the surface.

7 Q And what did that show? What did  
8 that show?

9 A That showed that the organism,  
10 methicillin-resistant Staphylococcus, was  
11 growing on the surface of this open wound.

12 Q Well, how do you know the swab was  
13 taken from the surface?

14 A Well, I have no reason to suspect  
15 that it was anything other than what was  
16 described.

17 Q Okay, so --

18 A It was described as being taken from  
19 the surface of the wound.

20 Q Where is that described? It says  
21 "swab."

22 A It says "swab-skin." It doesn't say  
23 -- it doesn't say: swab from sinus tract.  
24 It doesn't say swab from the deep portion  
25 of this open area.

1 | Q     Okay.    So, wherever it was taken -- I  
2 | mean, you would have expected that it  
3 | would have been taken from the opening,  
4 | though; wouldn't you?

5 | A     Common sense would dictate that.

6 | Q     Yes, so that the result of that was  
7 | MSRA; correct, and that's -- What is  
8 | that, Doctor?

9 | A     Methicillin-resistant Staphylococcus  
10 | aureus.

11 | Q     MRSA.

12 | A     I didn't correct you. I felt that  
13 | was a minor point.

14 | Q     And is that difficult to treat,  
15 | Doctor?

16 | A     Difficult in what sense?

17 | Q     Well, I mean, is it highly resistant  
18 | to certain types of drugs?

19 | A     One has a more limited armamentarium  
20 | of antibiotics to choose from.

21 | Q     And, by the way, what was the  
22 | organism that was discovered to be  
23 | existing on Mr. Cates in November of 1987  
24 | when he was in the hospital?

25 | A     As we have said repeatedly this

afternoon, this man was chronically colonized.

Q What was the origin?

A And infected with methicillin-resistant staph aureus.

Q I see. So, that was the same organism that was found on December 22nd, 1987; is that correct, sir?

A I can't say that with any degree of  
10 certainty. It was a similar organism.

11 Q Well, okay. I think other doctors  
12 have testified that it probably was the  
13 same. You wouldn't disagree with that.

14 MR. ALLISON: Objection.

15 THE WITNESS: I am responding  
16 to your question. Your question was:  
17 Was this the same organism, and I'm  
18 telling you: It probably -- it was a  
19 similar organism and probably the  
20 same organism, but without specific  
21 testing, there's no way to know that  
22 this man had not become colonized in  
23 the intervening period with a  
24 different organism.

25 3Y MR. KAMPINSKI:

1 Q How about in January of 1988 when he  
2 came in with -- what did he come in with  
3 at that time, sir?

4 A He came in with an acute  
5 staphylococcal septicemia, endocarditis,  
6 meningitis and bilateral septic arthritis  
7 of the knees.

8 Q All right. Can you explain that in  
9 English to the jury, please?

10 A It meant that he had Staphylococcus  
11 growing in his bloodstream and on his  
12 heart valve. He had it growing in his  
13 nervous system, and he had it growing in  
14 both knees, and the category of infection  
15 was with this same organism.

16 Q All right. So, just so I understand,  
17 I mean, he had this organism when he came  
18 into the hospital November -- what was it,  
19 3rd -- 13th, 1987?

20 A He had a methicillin-resistant  
21 Staphylococcus.

22 Q Sure. Okay, and he had it again when  
23 -- the only culture that they did do, the  
24 swab in December of '87, correct?

25 MR. ALLISON: Objection.



1 THE WITNESS: He had a  
2 methicillin-resistant staph aureus at  
3 that time.

4 BY MR. KAMPINSKI:

5 Q Sure, and, then, he had it ultimately  
6 in his blood in January of 1988, correct?

7 A He had a methicillin-resistant staph  
8 aureus in his blood at that time.

9 Q Well, it was probably the same  
10 organism in January as it was in November;  
11 wasn't it?

12 MR. ALLISON: Objection, Asked  
13 and answered.

14 THE WITNESS: The only thing we  
15 know is that it was a methicillin-  
16 resistant staph aureus. It was not  
17 phage type. It was not in any other  
18 way pursued and identified as to its  
19 being the same strain of staph  
20 aureus. Now, the fact that it  
21 probably was is irrelevant. You are  
22 asking me to establish or to respond  
23 to your questions that this was the  
24 same organism throughout, and I'm  
25 simply telling you, based on the data

1           we have, we can only conjecture that  
2           it was.

3 BY MR. KAMPINSKI:

4 Q       Doctor, there's a difference --  
5 you've testified a lot; haven't you, sir?  
6 I mean, you gave --

7           MR. ALLISON:     Objection.

8           THE WITNESS:     I've given a  
9           number of depositions.

10 BY MR. KAMPINSKI:

11 Q       Sure, and you know the difference  
12 between probabilities and possibilities;  
13 don't you?

14 A       That's correct.

15 Q       All right. Probabilities mean that  
16 something is probably more true than not?

17           MR. ALLISON:     Objection.

18 BY MR. KAMPINSKI:

19 Q       Correct?

20 A       Yes..

21 Q       Possibilities are it's just possible;  
22 it's not probable. There's a distinct  
23 difference, and you are aware of that?

24 A       Yes.

25 Q       All right. My question, and it's a

very simple one: And is it probable that the organism in January of 1988 is the same as the one that was there in November of 1987?

A Yes.

Q Okay, and they treated him for that organism in November of '87 with two weeks of antibiotics; is that correct?

A **Two** weeks of IV vancomycin.

10 Q Sure, and, then, they stopped that,  
11 right?

12 A Correct.

13 Q Sent him out of the hospital without  
14 any antibiotics?

15 A That's correct.

16 Q You testified at great length in  
17 direct examination that he was getting  
18 better in the hospital in November of '87,  
19 right? Do you recall that?

20 A I testified that the condition for  
21 which he was receiving the antibiotics was  
22 responding appropriately.

23 Q Uh-huh, and if somebody is draining  
24 purulent liquid, does that indicate more  
25 probably that he has a -- if he's draining

1 it from -- from a knee joint, that he more  
2 probably has a deep knee infection as  
3 opposed to a surface infection?

4 MR. ALLISON: Objection.

5 THE WITNESS: That assumes  
6 things that were not true in this  
7 case.

8 BY MR. KAMPINSKI:

9 Q Well, what's purulent drainage?

10 A Purulent drainage means that the  
11 material, the liquid material coming from  
12 a defect in the skin has a yellowish color  
13 and represents pus.

14 Q And does that -- are you saying there  
15 was no purulent drainage in this case?

16 A No.

17 Q What are you saying?

18 A I'm saying that you're saying it came  
19 from a deep source, and that is not  
20 necessarily true.

21 Q Well, was there or wasn't there a  
22 purulent drainage?

23 A There was purulent drainage at the  
24 onset of this man's admission to the  
25 hospital.

1 | Q     And --  
2 | A     Subsequently, the --  
3 | Q     All right. Go ahead. Go ahead.  
4 | Finish. Go ahead.  
5 | A     Subsequently, as the notes clearly  
6 | point out, this drainage changed in  
7 | character, changed in amount, and became  
8 | clear and no longer indicative of purulent  
9 | material.  
10 | Q     Well, once it's purulent, or  
11 | purulent, however you would pronounce it,  
12 | doesn't that tell you that it's probable  
13 | that the infection is deep?  
14 | A     No.  
15 | Q     Okay. So, that was just an  
16 | observation that one can look at and say:  
17 | That doesn't prove anything?  
18 | A     It doesn't tell you to any degree of  
19 | certainty where it's coming from. It can  
20 | be surface; it can be intermediate; or it  
21 | can be deep.  
22 | Q     Well, what if it comes when the  
23 | patient flexes or extends his knee over  
24 | the patella?  
25 | A     Well, any time you flex or extend

1 tissue over a hard surface, any liquid  
2 material in the soft tissues is going to  
3 be extruded through a defect.

4 Q So, it could be deep; it could be  
5 superficial?

6 A It doesn't tell you anything.

7 Q All right. The initial analysis was  
8 that it was an infected right total knee,  
9 correct?

10 A The initial impression, quite  
11 appropriately, when the man was admitted  
12 to the hospital, was to be concerned about  
13 an infected knee prosthesis, and that's  
14 exactly what we have in this chart,

15 Q All right, You said a number of  
16 times on direct examination that it was a  
17 possible infected right knee. Do you  
18 recall saying, characterizing it as  
19 possible?

20 A No, what I've said repeatedly --

21 Q Do you recall that or not?

22 A What I've said repeatedly is that  
23 this man was admitted to the hospital with  
24 a presumptive diagnosis of an infected  
25 total knee, and an appropriate work-up was

1 carried out to decide whether that initial  
2 impression was correct.

3 Q You know, if at any time you don't  
4 understand my question I will be happy to  
5 rephrase it, and my question really was a  
6 very simple, straightforward one, not  
7 designed to trick you or embarrass you or  
8 do anything of that sort. It was: Do you  
9 recall characterizing the analysis,  
10 initial analysis, as possible --

11 MR. ALLISON: Objection.

12 BY MR. KAMPINSKI:

13 Q -- right knee infection? That was my  
14 question.

15 MR. ALLISON: Objection.

16 THE WITNESS: That's not true  
17 at all. There's no point in time that I  
18 said that.

19 BY MR. KAMPINSKI:

20 Q You didn't?

21 A No?

22 Q How about suspected? You didn't say  
23 that either in your direct examination.

24 A I said -- the initial analysis --

25 Q Did you or didn't you say that?

1 | A I'm going to respond to your question  
2 | if you will permit me.

3 | Q Either you said it or you didn't say  
4 | it.

5 | A You're using the term -- you're using  
6 | the term, and this is again an example of  
7 | your attempt to trick me up with words.  
8 | There was no analysis made prior to the  
9 | time that the presumptive diagnosis of an  
10 | infected total knee was put down on the  
11 | admitting sheet. The analysis that took  
12 | place subsequent to that ruled it out,  
13 | because nothing had been done prior to the  
14 | time he was admitted. It was the testing  
15 | and the information gathered after that  
16 | point that led to discarding that  
17 | diagnosis.

18 | Q So, is the answer to my question: No,  
19 | you didn't say suspected?

20 | A I can't answer your question because  
21 | it's a non-question. You're asking me two  
22 | things --

23 | Q No, Doctor. I'm asking --

24 | A -- at the same time.

25 | Q You're concerned about my somehow



1 suggesting something inappropriate here,  
2 and my concern, quite frankly, is that you  
3 would do the same thing, and if, in fact,  
4 you testified on direct examination --

5 MR. ALLISON: Objection.

6 BY MR. KAMPINSKI:

7 Q -- that they put down, suspected  
8 right total knee, and they didn't, I mean,  
9 that would be inappropriate on your part  
10 to try to sway the jury with adjectives  
11 that just don't exist.

12 A No. You are attempting to confuse  
13 the issue because, as is always the case,  
14 a physician is required to write down on a  
15 sheet of paper what he or she believes to  
16 be a working diagnosis that must be the  
17 foundation for the -- for the work-up, and  
18 that's what was done here. Once the  
19 information was gathered, another  
20 diagnosis was substituted.

21 MR. ALLISON: Let's go off the  
22 record for a second.

23 (At this time a short recess  
24 was had.)

25 BY MR. KAMPINSKI:

1 Q What was the discharge diagnosis and  
2 the discharge -- in the clinical resume',  
3 sir? Would you tell the jury?  
4 A What page?  
5 Q Well, yours aren't numbered the way  
6 mine are.  
7 A Well, I will be happy to read your  
8 page, if you like.  
9 Q Sure. Under "Impression," the part  
10 that's underlined there, do you see that?  
11 Here's the first page of that, by  
12 the way, Doctor. And could you tell the  
13 jury the date on -- that that was  
14 dictated? It's on the first page.  
15 A Well, here again --  
16 Q Could you just tell the jury the  
17 date that it was dictated? That's my only  
18 question, Doctor.  
19 A I will tell the jury that it was  
20 dictated on 12/2/87, and it is a resume'  
21 of the entire hospital admission.  
22 Q Does it say, "Dictated January 14th,"  
23 up on the top?  
24 A Oh, this -- this one here,  
25 Q Yes, sir.

1 A I didn't see that. Okay, 1/14/88.

2 Q All right. So, that was well after

3 he was discharged, well after he was seen

4 in December, even after he was seen in

5 January of 1988, correct?

6 MR. ALLISON: Objection.

7 BY MR. KAMPINSKI:

8 Q Is that correct, sir?

9 A This, again --

10 Q Is that correct? Your attorney had

11 an opportunity to ask you questions for

12 over an hour. You know, I'm trying to ask

13 you questions --

14 A You're trying to get me to make a

15 statement at a point --

16 Q I just asked you --

17 A -- at a point in time that doesn't

18 exist, and I'm recognizing what you're

19 trying to do here.

20 Q Doctor, just answer the question,

21 please.

22 A And all I'm trying to do is point out

23 that you're trying to trick us again.

24 Q Just answer the question, please.

25 This was done -- this was dictated at a

1 time after he was discharged. It was  
2 dictated at a time after he was seen in  
3 December. It was even dictated after he  
4 was already seen in the hospital in  
5 January of '88. Isn't that true?

6 A Yes.

7 Q Okay, and in the analysis -- I'm  
8 sorry; the -- did you find that in your  
9 own records, by the way? Do you have  
10 that, so I can look at mine and you can  
11 look at yours? And you reviewed these  
12 records before testifying; didn't you?

13 A Yes, yes, I did.

14 Q Sure, and under "Impression," would  
15 you please tell the jury what was dictated  
16 as the impression?

17 A That is the impression --

18 Q Just tell them what it was -- what it  
19 was. I mean, these are medical facts.

20 MR. ALLISON: You're asking the  
2.1 doctor to read the sentence that  
22 occurs after "Impression"?

23 THE WITNESS: I am going to  
24 read the sentence that represents a  
25 resume' of the patient's hospital

1 admission. The impression at the  
2 time of the admission, that's not  
3 what this says --

4 BY MR. KAMPINSKI:

5 Q Oh.

6 A But that's what it implies. The  
7 impression was an "infected right total  
8 knee admitted for possible removal. ID  
9 will be consulted. Cardiology will be  
10 consulted."

11 We then see "Hospital Course"  
12 following after that. "Hospital Course"  
13 follows after "Impression." If this was  
14 the final impression in this discharge  
15 summary, it would appear after the  
16 "Hospital Course."

17 Q After the "Hospital --"

18 A Not before. That is the format --

19 Q I see.

20 A -- for discharge diagnoses.

21 Q All right. Then, why don't you,  
22 Doctor, go to the discharge order in this  
23 case. Did you do that?

24 A Yes.

25 Q Okay. Now, would you indicate to the

1 jury -- there's a difference there,  
2 admitting diagnosis, correct?  
3 A Admitting diagnosis says, "Infected  
4 total knee arthroplasty."  
5 Q Sure, and, then, would you read what  
6 it says under, "Principal discharge  
7 diagnosis"?  
8 A There are two things here.  
9 Q Sure, read them all.  
10 A There's a statement, "Infected total  
11 knee arthroplasty," and that's crossed  
12 out, and a diagnosis of "Superficial wound  
13 breakdown" is then --  
14 Q Written in by somebody?  
15 A Then written in.  
16 Q Who wrote that in?  
17 A I have no idea.  
18 Q When did they write it in?  
19 A I have no idea.  
20 Q Well, when the man was discharged,  
21 apparently, somebody wrote in, "Infected  
22 total knee arthroplasty"; isn't that true?  
23 MR. ALLISON: Objection.  
24 THE WITNESS: Someone did.  
25 BY MR. KAMPINSKI:

1 | Q And that was before there was a  
2 lawsuit; isn't that true?

3 MR. ALLISON: Objection.

4 THE WITNESS: I assume so.

5 BY MR. KAMPINSKI:

6 | Q Did you ignore that particular fact  
7 when you reached your --

8 A No.

9 | Q -- conclusion?

10 A As a matter of fact, I did not ignore  
11 it. It was one of the things that I  
12 noticed early on.

13 Q Okay. Dr. Meyer, whom you referred  
14 to earlier, on his assessment and plan on  
15 November 13th when -- when Mr. Cates was  
16 admitted, he put down that his impression  
17 was, "Infected right total knee," correct?

18 A Correct.

19 Q All right. The next day, there's a  
20 -- November 14th, there's a note written,  
21 and to be honest with you, Doctor, I'm not  
22 sure who -- who it's written by.

23 A The one in the middle of the page or  
24 afterwards?

25 Q No, this one here.

1 | A       The bottom one.

2 | Q       I think that's Dr. Bender.     Do you

3 | see that note?

4 | A       Yes.

5 | Q       I'm not sure we have the same one.

6 | A       Yes, I think we do.

7 | Q       Okay

8 | A       The last word is, "Will check

9 | cultures."

10 | Q       Yes, right.   And he's got -- 'let's

11 | see.

12 | A       "Assessment."

13 | Q       "Assessment."

14 | A       "Probable septic prosthetic right

15 | knee with superficial furuncle over right

16 | patella.

17 | Q       It says, "Probable septic prosthetic

18 | right knee," not possible but probable

19 | and, then, he's got some suggestions,

20 | right?

21 | A       Yes.

22 | Q       What's the fourth suggestion there,

23 | Doctor?

24 | A       "Will require --"

25 | Q       "Repeat aspiration"?



1 | A       "-- repeat aspiration of the right  
2 | knee. "

3 |                   MR. ALLISON:     Objection.

4 | BY MR. KAMPINSKI:

5 | Q       Now, an aspiration is the removal of  
6 | liquid from the joint, correct?

7 | A       Yes.

8 | Q       A removal of material from the joint  
9 | for analysis?

10 | A       Yes.

11 | Q       Okay. Now, that was done the day  
12 | before by this Dr. Meyer; wasn't **it**, on  
13 | the 13th?

14 | A       Yes .

15 | Q       And that's what you referred to as  
16 | coming back as negative.

17 | A       That's correct.

18 | Q       All right. Now --

19 | A       Well, I don't know if he knew **it** was  
20 | negative at that time, because he says  
21 | right at the bottom, " Will check  
22 | cultures.

23 | Q       Okay, but we can't tell which  
24 | cultures he's referring to. He may be  
25 | referring to the ones he wants repeated.

1 A If he had known the results of the  
2 cultures prior to this time, I think he  
3 would have mentioned it in the note. He  
4 may well have mentioned some -- some --  
5 something in the note. I haven't read  
6 this note at this point in time.

7 Q Uh-huh, but it depends where you do  
8 the culture or the aspiration to analyze  
9 the appropriateness of the results of --  
10 of that culture; wouldn't that be a fair  
11 statement?

12 A I'm not quite sure I understand.

13 Q All right. If somebody doesn't do  
14 the aspiration in the right place, they  
15 may not get the right result.

16 A It's possible that an aspiration from  
17 a different location would have different  
18 results.

19 Q And what was the level of experience  
20 of Dr. Meyer?

21 A I have no idea, but he obviously  
22 succeeded in removing fluid from -- from  
23 the prosthesis.

24 Q Well, from somewhere.

25 MR. SEIBEL: Objection.

THE WITNESS: He put a needle  
in and he got fluid out.

BY MR. KAMPINSKI:

Q Why is it that Dr, Bender wanted it  
repeated, then?

MR. ALLISON: Objection.

THE WITNESS: These are  
suggestions that Dr. Bender is  
offering as part of the work-up.

10 BY MR. KAMPINSKI:

11 Q Uh-huh, Well, was it done?

12 A They're not cast in stone.

13 Q Was it done?

14 A As far as I know, it wasn't done.

15 MR. ALLISON: Objection,

16 BY MR. KAMPINSKI:

Q These references that you made  
18 earlier -- by the way, no additional  
19 cultures were done of the knee joint  
20 during that hospitalization for the  
21 remaining two weeks that he was there;  
22 isn't that true?

23 MR. ALLISON: Objection.

24 THE WITNESS: That is correct.

25 BY MR. KAMPINSKI:

1 | Q And your suggestion that Mr. Cates  
2 | had some other -- how did you characterize  
3 | them? Problems with his ear and his foot  
4 | and his buttocks; abscesses on the  
5 | buttocks, foot, ears and toes, and you  
6 | thought some of them might have been a  
7 | couple weeks before he even came in.  
8 | A It's not my suggestion; it's my  
9 | review of the record.  
10 | Q Yes.  
11 | A Someone's history contained evidence  
12 | that Mr. Cates had experienced furuncles  
13 | elsewhere on his body prior to coming in,  
14 | and at the time he was admitted, someone  
15 | described a furuncle somewhere on the  
16 | buttock.  
17 | Q What did they do about that? Did  
18 | they take care of those?  
19 | A I have no idea.  
20 | Q Well, I mean, he was in the hospital.  
21 | If that was a problem, wouldn't they take  
22 | care of them?  
23 | A If it was necessary to take care of  
24 | them, they would have been taken care of.  
25 | Q Do you see any mention of that being

1 a problem when he was discharged?

2 A Obviously, there wasn't,

3 Q Then, why did you suggest that those  
4 could have been the sites of the  
5 subsequent infection, Doctor?

6 A Because this man has clinical  
7 evidence of a state of chronic  
8 colonization. He's a nasal carrier of the  
9 organism, and his history suggests that at  
10 various parts -- surfaces -- parts of his  
11 skin and the surface of his body,  
12 staphylococci are gaining entry into the  
13 tissues,

14 He has a little abscess here, a  
15 little furuncle here, and that is a  
16 characteristic pattern of an individual  
17 who is chronically colonized on the  
18 surface of his skin with Staphylococcus.

19 Q So, he got it from his nose, then,  
20 and it got into his blood?

21 A The nose is the main reservoir for  
22 chronic staphylococcal colonization. You  
23 can clear up every single organism on the  
24 surface of the body by one of a variety of  
25 techniques. If you don't eliminate the

1 nasal carrier, **it** comes right back.

2 | Q **So**, and when you say chronic, he's

3 had this for what? Ten, 15 years?

4 A I have no idea how long he's had **it**.

5 I only know that at the time of this

6 admission, he had a positive -- he was a

7 positive carrier for staph aureus in his

8 nasal culture.

9 | Q **So**, you don't necessarily know if **it**

10 was chronic. He just had **it** when he was

11 in the hospital in November of '87?

12 A The history suggests that it was

13 chronic.

14 Q What history?

15 A The fact that he had several small

16 abscesses on his skin in the two weeks

17 prior to his coming into the hospital.

18 Q Does that mean that they were

19 infected with staph, those abscesses?

20 A More than likely.

21 Q Oh, and you can say that as an

22 infectious disease specialist without

23 looking at a culture or hearing anything

24 other than he had an abscess?

25 A Given this scenario, yes, **it** is

1 almost certainly the etiology of those  
2 organisms or those lesions.

3 Q I thought you told me in your  
4 deposition that you had no idea where the  
5 January infection came from, but now --  
6 now you do?

7 A Once again, you're attempting to trip  
8 me up on something I might have said in  
9 response to a given question three days  
10 ago that may or may not relate to what  
11 we're talking about now. As I recall, you  
12 asked me if I had an opinion as to where  
13 the infection came from, if it didn't come  
14 from the knee. Am I correct?

15 Q Yes, I don't have a quarrel with  
16 that, Doctor.

17 A And I said: It's more than likely  
18 that it came from some focus on the skin,  
19 because this man had demonstrated that he  
20 was a chronic carrier and was having  
21 multiple skin infections with this  
22 organism.

23 Q But you didn't know from where, and  
24 you still don't.

25 A I didn't know which precise part of

his body there was a break in the skin that permitted this organism to get into his bloodstream.

Q Okay, we know there was a break in the skin at the knee; don't we?

A Yes.

Q And, yet, you've ruled that out as the site, correct?

A I ruled it out as the --

10 Q Is that correct?

11 A Yes.

12 Q Why don't we change the tape now, if  
13 you want to take a break for a minute.

14 (At this time a short-recess  
15 was had.)

16 BY MR. KAMPINSKI:

17 Q All right. Doctor, throughout his  
18 November hospital stay, there was drainage  
19 noted. I think you testified that it  
20 either got better or decreased as his  
21 hospitalization went on. Would that be  
22 correct?

23 A That's correct.

24 Q All right. The -- and he was  
25 discharged on December 2nd. On December



1 1st, there was a note; it's actually in  
2 the progress notes, where there's a  
3 statement by the patient himself at 1:30  
4 P.M. Do you see that, sir? Are we on the  
5 same page, December 1st?  
6 A Okay.  
7 Q It says, "Bad news. It started  
8 draining." And the analysis was: "Wound  
9 drain small clear sanguinous drainage."  
10 That's written by a nurse.  
11 A Okay, Yes, after they closed it with  
12 a suture.  
13 Q So, they closed it what? That day?  
14 A They attempted to suture, I think,  
15 the day before he left.  
16 Q Okay, and that would have been the  
17 1st?  
18 A Yes.  
19 Q And is that why it started draining;  
20 because the suture was put in, or --  
21 A Well, I assumed that they -- yes, it  
22 says, the day before at 8:00 in the  
23 evening, "No drainage was noted. Suture in  
24 the middle of the incision." Okay, that's  
25 on the progress note from the 30th, and,

1 it says, "Incision dry and healed." And,  
2 then, the following day at 1:30 P.M., it  
3 says that it started to drain again. So,  
4 the suture didn't work.

5 Q But, I mean, they didn't take the  
6 suture out and put it back in. He was  
7 just discharged the next day; is that  
8 correct?

9 A Yes. It says, "Wound drain small  
10 clear sanguinous drainage."

11 Q Well, I mean, if the suture wasn't  
12 working, why -- why wouldn't they fix it?

13 MR. ALLISON: Objection.

14 THE WITNESS: I have no idea.

15 BY MR. KAMPINSKI:

16 Q Okay. When was he seen --

17 A Here's the discharge summary on the  
18 next page. It says something different.

19 Q By another nurse or the same nurse?

20 A Yes. It says, "Wound in knee with  
21 one suture intact well proximated without  
22 drainage." That's at the time of  
23 discharge.

24 Q So, depending upon when we read a  
25 note, we may see different information,

1 then?

2 A I would say it a little differently.  
3 Depending on when the note was written, we  
4 may have different information.

5 Q Okay. He was then sent home, right,  
6 without any antibiotic therapy, correct?

7 A That's correct,

8 Q All right, Now, if, in fact, he had  
9 a deep knee infection, Doctor, when he was  
10 in the hospital on November 13th as  
11 various physicians, at least initially,  
12 thought he had, and as some described even  
13 after he was discharged; if he had that --

14 MR. ALLISON: Objection.

15 BY MR. KAMPINSKI:

16 Q -- I know you disagree that he had  
17 it, but if he had it, it would not have  
18 been appropriate to discharge him at that  
19 time; would it?

20 MR. ALLISON: Objection.

21 THE WITNESS: That's correct.

22 BY MR. KAMPINSKI:

23 Q All right, and the appropriate  
24 treatment would have been to remove the  
25 prosthesis; isn't that true?

A       Ultimately.

Q       Yes, because a prosthesis is a foreign body or a foreign item within the body, and **it** can, in fact, act as **a** host for infection.

A       In point of fact, what would have been tried before the prosthesis would be removed would be a more extended course of antibiotic therapy.

10    Q       How many weeks?

11    A       Six to eight weeks.

12    Q       Not just two weeks?

13    A       Correct.

14    Q       And that would have been IV?

15    A       There are situations in which oral  
16    antibiotics could be used for part of that  
17    time.

18    Q       Okay, but **it** would have been much  
19    longer than what he got?

20    A       The period of time was inadequate to  
21    treat an infected knee.

22    Q       All right, and even with trying just  
23    antibiotics, the probability is that the  
24    prosthesis would have to be removed to  
25    insure that the potential infection site

was removed.

A It is uncommon to cure these with antibiotics, but it depends on how long they've been infected. If it's an acute infection, there have been some of these that appear to have responded to prolonged antibiotics without removal of the prosthesis.

Q Okay, so that would have been  
10 possible, and maybe even the prosthesis  
11 removed, but under any circumstances, he  
12 would not have been discharged when he was  
13 under the circumstances that he was?

14 A If there was a deep knee infection,  
15 yes.

16 Q All right. Now, when did he, then,  
17 see the doctor next? Do you know?

18 A The discharge note says, "Follow-up  
19 at Ortho Clinic in one week. Patient  
20 discharged to home with brother."

21 Q All right. One week would have been  
22 what? Approximately December 10th?

23 A Something like that.

24 Q Was he seen in the clinic then?

25 A I don't know. I don't recall.

1 | Q      Well, I mean, did you review those  
2 | records, sir?

3 | A      I may have. I don't recall at the  
4 | moment whether he came in on the 9th or  
5 | the 10th.

6 |                MR. ALLISON:      Please feel free  
7 |                to look at the records, Doctor.

8 |                THE WITNESS:      Do we have an  
9 |                out-patient -- is there an  
10 |               out-patient section here? I don't  
11 |               see any notes that he was seen on the  
12 |               10th. There's a stamp that a visit  
13 |               was set **up**, but there's no note  
14 |               there.

15 | BY MR. KAMPINSKI:

16 | Q      Well, when was he seen in the  
17 | out-patient clinic?

18 | A      As far as I can tell, the first  
19 | notation in the out-patient clinic is when  
20 | he comes in for the -- for the operative  
21 | procedure and ambulatory surgery.

22 | Q      Well, all right. He -- he  
23 | apparently, then, was seen on the 22nd at  
24 | the out-patient clinic for surgery?

25 | A      Well, I don't know. There's no --

1 there is no entry here at all between the  
2 second and the time he has the surgery.

3 SO --

4 Q Well, how much --

5 A -- somebody had to at some point in  
6 time decide that he had to come in for  
7 that surgery.

8 Q Sure.

9 A And there's no documentation of that  
10 in these records.

11 Q Well, I mean, does that mean there's  
12 something missing here?

13 MR. ALLISON: Objection,

14 THE WITNESS: It's possible.

15 BY MR. KAMPINSKI:

16 Q Well, how do you as a physician,  
17 then, know what the situation -- I mean,  
18 reviewing this after the fact, know what  
19 the situation was with his knee between  
20 his discharge on December 22nd -- I'm  
21 sorry, December 2nd and December 22nd?

22 A I don't know what went on in that  
23 interval of time.

24 Q Okay- Well, he shows up on the 22nd  
25 apparently for a scheduled surgery.

1 A Correct.

2 Q Do you know who scheduled **it**?

3 A I would assume the surgeon.

4 Q Who was that?

5 A The orthopedic surgeon, Dr.

6 Matejczyk.

7 Q Okay, and having been in the hospital

8 for a period of what? Two, three weeks in

9 November being treated for infection, I

10 assume that one of the focuses that you

11 would have anticipated of hers would be to

12 determine or to insure that there was no

13 reoccurrence of the infection.

14 A Her responsibility would be to follow

15 **up** the patient, yes.

16 Q Yes, and when she took this piece of

17 tissue that was sent to the pathology lab,

18 were the instructions -- well, how does

19 that work? I mean, do you as a physician,

20 when you send tissue to a lab, tell them

21 what **it** is you want them to find or to

22 look for, rather?

23 A No. ~~As a rule, the -- you have to~~

24 ~~instruct them, if you want the material to~~

25 ~~be cultured, either if -- for example, if~~



1 you only get tissue --

2 Q Uh-huh.

3 A -- then you say: Please culture for  
4 the following. If you don't want the  
5 tissue cultured, then, the specimen gets  
6 processed in the standard way, and after  
7 an initial examination in pathology, one  
8 may order special studies or special  
9 stains to be carried out if the initial  
10 impression warrants it.

11 Let's say, for example, that they saw  
12 some little pockets of acute inflammation.  
13 The pathologist might automatically do a  
14 tissue gram stain in response to that  
15 without being asked. On the other hand,  
16 it might be something that the physician  
17 would request after reviewing the specimen  
18 with the pathologist.

19 Q Well, could you tell me -- well, one  
20 of the way<sup>5</sup> that you or any physician, or  
21 even an individual who is not necessarily  
22 a physician, can determine if something is  
23 potentially infected is by the way it  
24 looks clinically, correct?

25 A Well, that's one of the ways, yes.

1 Q Yes. I mean, you take that in  
2 conjunction with your laboratory tests.  
3 A Correct.  
4 Q All right. And, I think you told me  
5 that what you look for -- let's see. You  
6 look for fever. You look for  
7 inflammation. You look for swelling. You  
8 look for redness. You look for  
9 tenderness. Those are signs to you as a  
10 doctor that would make you suspicious of  
11 infection.  
12 A Correct.  
13 Q Is there a -- a physical exam that  
14 you can show me here with respect to this  
15 December 22nd surgery?  
16 A The only note we have is by -- let's  
17 see if we can find something here. An  
18 operative note from the surgeon, Dr..  
19 Matejczyk, indicating that this -- giving  
20 the history and, "A wound that  
21 communicates with the fascial space that  
22 has been nonpurulent and nontender but has  
23 not closed secondary to mechanical  
24 problems," and, then, she describes the  
25 surgical procedure.

1 Q Well, what was his fever, or what was  
2 his temperature? I mean, there's a place  
3 in the operating room for vital signs -  
4 isn't there? - on the sheet.

5 Q I'm looking for the OR sheet. I  
6 don't see any recording of his vital  
7 signs.

8 Q When it says, "Not closed secondary  
9 to mechanical problems," that infers what?  
10 Suture breakage or suture not holding?

11 A I have no idea what she means by  
12 mechanical problems, but she's suggesting  
13 that the tissue is not healing because the  
14 two ends are not approximating each other,  
15 and that they're being held apart by some  
16 mechanical force.

17 There are some vital signs  
18 listed at the bottom of the progress notes  
19 on the ambulatory surgery list.

20 Q Blood pressure?

21 A Blood pressure.

22 Q Heart rate?

23 A Heart rate and respiratory rate.

24 Q Okay, and what was his temperature?

25 A They did not record it.

1 Q Well, that's -- that's one of the  
2 signs, or one of the things that --  
3 A There obviously was not enough  
4 information visible to raise the question  
5 of whether or not she had to take a  
6 temperature recording.  
7 Q Sedimentation -- the specialty for  
8 rheumatoid arthritis is rheumatologist; is  
9 that correct?  
10 A Yes.  
11 Q And, that's not one of your  
12 Specialties, correct?  
13 A I deal with infections in patients  
14 who have rheumatoid arthritis.  
15 Q All right, but you don't deal -- in  
16 the absence of them having an infection,  
17 you don't deal with them, or do you?  
18 A I'm sometimes asked to evaluate  
19 whether inflammatory changes are related  
20 to infection or to their arthritis.  
21 Q You testified that the sedimentation  
22 rate was of no value in this case,  
23 correct?  
24 A It was of no assistance.  
25 Q No assistance. What was the value,

1 the ~~sedimentation rate value?~~

2 A The number?

3 Q Yes, sir.

4 A ~~Seventy-five millimeters per hour.~~

5 Q What's normal?

6 A ~~Zero to ten, zero to 20.~~

7 Q And how many times did they do the

8 test for sedimentation rate?

9 A I don't recall.

10 Q You can take a look if you need to.

11 And we're talking now about the November

12 hospitalization again?

13 A Yes, uh-huh. Okay. There's a

14 sedimentation rate recorded on the 13th of

15 November, the day he came in.

16 Q Okay, and that figure, I'm sorry?

17 A Is 75 millimeters per hour.

18 Q Uh-huh.

19 A And, then, there's -- oh, that's the

20 preliminary report. Then, there's one on

21 the 30th of November with a reading of 66.

22 Q Okay, and that's abnormal as well?

23 A **Yes.**

24 Q Okay, any others?

25 A There's another one on the 23rd that

1 I see here.

2 Q Uh-huh.

3 A That was 75.

4 Q Also elevated, abnormal?

5 A Yes,

6 Q Okay.

7 A Correct.

8 Q And, that's a sign of inflammation, I

9 think you testified?

10 A That's correct.

11 Q **And, inflammation can be something**

12 **associated with infection.**

13 MR. ALLISON: Objection. Asked

14 and answered,

15 THE WITNESS: Yes.

16 BY MR. KAMPINSKI:

17 Q If it was of no value, could you

18 please tell me why it was ordered on three

19 occasions?

20 A You'll have to ask the people who

21 ordered it.

22 Q All right. Shifting back, then, for

23 a moment to the December 22nd procedure,

24 Doctor, did Dr. Matejczyk order any type

25 of culture to be done on the material that

1 was removed from his knee?

2 A The bio -- the tissue?

3 Q Yes, sir.

4 A No.

5 Q That could be done, though.

6 A Certainly.

7 MR. ALLISON: Objection.

8 THE WITNESS: Yes.

9 BY MR. KAMPINSKI:

10 Q ~~All right, and the only evidence that~~  
11 ~~she got back as to the existence of staph~~  
12 ~~was positive from the swab, correct? I~~  
13 mean, she did -- she removed a piece of  
14 tissue. She also took a swab from the knee  
15 area.

16 A She took a swab apparently from the  
17 open area before she excised the tissue.

18 Q Well, how do you know it was before?

19 A She didn't do it afterward.

20 Q How do you know that?

21 A I think her operative note indicates  
22 that they prepped it and cultured it and,  
23 then, excised it. Yes. "Incision was made  
24 around the one centimeter open wound after  
25 cultures were taken."

1                   Even if she had sent a piece of  
2 the tissue for culture, and it had grown,  
3 it wouldn't have meant anything.

4   Q       Why is that?

5   A       Because it was contaminated,  
6 colonized. The bottom line on whether the  
7 tissue was infected is the histology, not  
8 the culture, and, again, this is where  
9 interpretation of culture results is what  
10 --

11   Q       Okay.

12   A       -- we're talking about.

13   Q       But the swab -- the swab did show the  
14 existence of staph?

15   A       The swab grew the  
16 methicillin-resistant staph aureus.

17   Q       All right. There was no treatment  
18 given for that, correct?

19   A       There was no antibiotic treatment  
20 given,

21   Q       Did Mr. Cates return again to see  
22 her?

23   A       Mr. Cates came back on the 30th of  
24 November -- of December.

25   Q       Okay, and I take it you reviewed Dr.



1 Matejczyk's office record as part of the  
2 records that you looked at; is that  
3 correct?

4 A Did I?

5 Q Do you have them here?

6 THE WITNESS: Do we have them?

7 BY MR. KAMPINSKI:

8 Q Well, I mean, do you have the record  
9 that you reviewed, sir? Is that it?

10 MR. ALLISON: That's mine.

11 THE WITNESS: This is his  
12 record.

13 BY MR. KAMPINSKI:

14 Q Well, where is yours?

15 A I don't have it.

16 Q Okay. Where is it?

17 A I don't know. I've been trying to  
18 find it. If it was sent to me, I reviewed  
19 it.

20 Q All right. Could you show me where  
21 her office record is for the visit of the  
22 30th?

23 A That's in here. The recording of  
24 that encounter is a note written on a  
25 clinic copy of the culture result from the

1 time of surgery.

2 Q I'm sorry; the clinic copy?

3 A Yes. That's the title at the top of  
4 the page.

5 Q Well, if you --

6 A Defendant's Exhibit 2.

7 Q Sure. ~~If you would back up, she does~~  
8 ~~have entries for visits; does she not?~~

9 MR. ALLISON: I don't believe  
10 those records are contained in the  
11 volume that Dr. Lerner has in front  
12 of him. If you'd like to give him  
13 yours, I'm sure he can look through  
14 them.

15 BY MR. KAMPINSKI:

16 Q Well, were you provided with her --  
17 her office records? Do you recall those,  
18 Doctor?

19 A No, I don't. I don't know that I  
20 ever saw these.

21 Q Okay. Is there any --

22 A In fact, I'm sure I didn't see these.

23 Q Okay. Those were provided to me, by  
24 the way, by Mr. Zellers, who is an  
25 attorney also at Arter & Hadden who is

1 also involved in the case, and you can see  
2 they're numbered there at the bottom --  
3 A Uh-huh,  
4 Q -- which reflects the numbering of  
5 the records that were provided to me.  
6 Okay. Now, if you **look** at -- what's the  
7 first numbered page on Dr. Matejczyk's  
8 office record? It's in that particular  
9 section, Doctor.  
10 A Twelve.  
11 Q Twelve, okay, and that starts with  
12 what date?  
13 A 9/22/81,  
14 Q All right, and, then, the next page  
15 is 13?  
16 A Correct.  
17 Q And that has what date on it?  
18 A 2/9/84.  
19 Q Okay, and the next page is 14. And  
20 that goes from when to when?  
21 A 12/15/86 and 7/6/87.  
22 Q All right.  
23 A And, then --  
24 Q And, then, there's a written entry  
25 saying what? 4/4 --

1 A "4/4/88, no show."

2 Q ~~All right. So, there is no entry in~~  
3 ~~there for any visit of December 30th; is~~  
4 ~~there, Doctor?~~

5 MR. ALLISON: Objection

6 MR. SEIBEL: Objection.

7 THE WITNESS: Not recorded in  
8 there.

9 BY MR. KAMPINSKI:

10 Q Okay. Now, you just skipped page 15,  
11 and that is what, Doctor? Would you show  
12 that to the jury? Okay. What is that,  
13 Doctor?

14 A It's a copy of a path report, of a  
15 culture result.

16 Q All right.

17 A From the specimen taken on the 22nd,  
18 presumably at surgery, but the -- the  
19 report itself is dated 12/30/87 printed at  
20 that time.

21 Q All right. The computer printout is  
22 the 30th?

23 A That's correct.

24 Q Okay. When would the -- do you have  
25 any idea when the report itself would have

1    been generated?

2    A       Well, it's  --

3    Q       There's  a timeframe.

4    A       There's  a time  -- there's  a time

5       listed.

6    Q       Okay.  Go ahead.

7    A       The time says 5:42, 12/30/87.

8    Q       Okay, but it's got different listings

9       of the different pathology  -- I'm sorry;

10       different smears.

11   A       Specimens.

12   Q       Specimens, correct, all of them taken

13       December 22nd?

14   A       The first part is a description of

15       the specimen.  There's  a specimen  -- there

16       is a specimen labeled, "time 12  --" no,

17       "15:55. "

18   Q       1:55 on 12/22?

19   A       On 12/22.

20   Q       And the result was reported when,

21       Doctor?

22   A       On  -- well, this was printed  -- this

23       was printed on 5:42, 12/30/87.

24   Q       No,  sir.  We're  at cross-purposes.

25   A       No.  Okay, I'm  --

1 Q If you look at --  
2 A At the top --  
3 Q No, no. If you look at where it  
4 says, "The specimen, 12/22, 15:55,  
5 miscellaneous pyrogen culture," do you see  
6 that?  
7 A Uh-huh, okay.  
8 Q Do you see "Date in lab" after that?  
9 A 12/22.  
10 Q There's a column saying "Result,"  
11 right? Do you see that?  
12 A Okay.  
13 Q It says, "Result," 12/22 at 15:55?  
14 A That's when it's checked into the  
15 lab,  
16 Q It says "Result"?  
17 A It can't be, The specimen is  
18 received at 15:55.  
19 Q Okay.  
20 A I mean it has to grow up overnight  
21 and be tested. There's no way that it was  
22 -- the result was known at that time.  
23 Q All right. When did they know the  
24 result?  
25 A Well, I don't know, because all I --

the only other date we have here is -- is 5:42 on 12/30/87. Now, I don't know whether this was printed up as part of an ongoing computer printout and somebody took this one page out of it. That sometimes is how hospital computers work. They print up new and old information at the same time. On the other hand, it's possible that this was printed up for the first time at that -- on that date, but I just -- I just don't know.

12 Q Okay. How many -- how many cultures  
13 were there for the swab? Were there two?

14 A This was --

15 Q There was one at 15:55, and one at  
16 15:37?

17 A 15:37, yes.

18 Q So, she actually took two -- two  
19 swabs?

20 A Well, it says "Swab wound exudate."  
21 Maybe she took a second swab for some  
22 reason.

23 Q What does it mean, "wound exudate"?

24 A Exudate means drainage.

25 Q So, there was drainage from the

.....

wound, and she took a swab from it?

A Well, she's calling it -- she's calling it exudate, which simply means there was some moisture there, some liquid material.

Q Sure, and that grew staph aureus?

A Yes.

Q The copy that I gave you, Doctor, that was provided to me by counsel for the  
10 defendant. Does it indicate anything  
11 about any communication that Dr. Matejczyk  
12 had with anybody?

13 A No.

14 Q And, if you show that to the jury  
15 just so they can understand, there's a  
16 time -- there's a circular stamp in that  
17 right -- bottom right corner, and there's  
18 nothing written there. There's nothing  
19 there at all; is there?

20 A There's nothing handwritten, no.

21 Q Can you tell what's on that stamp?

22 A Some -- there's a time and a date,  
23 but I can't read it.

24 Q You can't tell what --

25 A It says December, but I don't know



1    what the other numbers are.

2    Q       Does it say "December 31st" on that

3    stamp, Doctor?

4    A       It's possible.

5    Q       Well, if it does say "December 31st"

6    on that stamp, could you explain to the

7    jury how in the world it is that the copy

8    you're looking at now, which has writing

9    on it, could have gotten that writing on

10   it on December 30th?

11   A       No, because that was printed up at

12   5:12. The clinic copy has a time of 5:12,

13   12/30/87. So, it was printed up that

14   morning.

15   Q       I understand, but why don't you show

16   the jury both copies, sir, that one and

17   the one you're looking at.

18   A       This one was printed up at a

19   different time.

20   Q       Okay. Fine. 'Show the jury both of

21   them.

22   A       This one went to the -- they have

23   different titles on them, by the way,

24   Q       Okay.

25   A       This has got the name of the hospital

1 on the top, and it's at 5:42 A.M., Ward  
2 Orthopedics, and here's a report that has  
3 Clinic Copy, and it was printed up **30**  
4 minutes earlier.

5 Q Are these tear-away copies; do you  
6 know?

7 A These are copies of the original, I  
8 would imagine. These -- I mean, I don't  
9 know, because these are Xerox copies, so I  
10 have no idea what the original looked  
11 like.

12 Q Who did Dr. Matejczyk talk to?

13 A Someone in infectious disease.

14 Q I'm sorry. I -- I didn't make my  
15 question clear. Who specifically did she  
16 talk to?

17 A Someone in infectious disease.

18 Q Which individual in infectious  
19 disease?

20 A It's not designated in her note.

21 Q Well, nobody in infectious disease  
22 remembers talking to her.

23 MR. SEIBEL: Objection.

24 BY MR. KAMPINSKI:

25 *a* I mean, how are we supposed to

1

2

**3**

**4**

5

**6**

7

8

**9**

10

**11**

12

13

14

15

16

17

18

19

20

21

22

23

24     A       Are you asking me is it possible for  
25       someone to write a note and predate it?

1 The answer, of course, is yes.

2 Q What did the wound look like when she  
3 discharged him on the 30th?

4 A Excellent.

5 Q And that's in that same note that  
6 you're referring to?

7 A It says "Wound check excellent." It  
8 means she checked the wound, and it looked  
9 excellent.

10 Q Excellent.

11 A So, I assume it meant that it was  
12 healed.

13 Q How many days after December 30th is  
14 January 3rd?

15 A Four or five, depending on whether  
16 you want to include the 30th.

17 Q Would you tell the jury what the  
18 wound looked like on January 3rd, please?  
19 Do you have that?

20 A The description of the admitting  
21 work-up by someone whose number was 42271,  
22 the house officer whose identification  
23 number was that, said under "Extremities,"  
24 "Severe deformed or deformity of most  
25 joints noted. Ulnar deviation of hand and

1 foot, and --" something, a word I can't  
2 read, "with multiple scars secondary to  
3 orthopedic procedures,"

4 That's one house officer's  
5 description. The senior assistant, SAR,  
6 senior assistant resident, "Extremities,  
7 small amount of exudate from wound in left  
8 knee, Severe deformity of hand including"  
9 something "deformity, ulnar deviation."

10 And that's the extent of the  
11 description on the admission to the  
12 hospital,

13 Q Did he have infection in his right  
14 knee, Doctor?

15 A He had infection in both knees.

16 Q Did he have pus coming out of his  
17 right knee?

18 A No.

19 Q He didn't?

20 A There was no description of pus.

21 Q Under -- in the discharge summary,  
22 Doctor, under hospital course --

23 A For this -- are we talking about the  
24 second?

25 a No, for this admission.

1 A The second admission?

2 Q No, for January 3rd.

3 A That's the second hospital admission,

4 The first was in November,

5 Q Right. Correct, In the middle of

6 the paragraph where it said, under

7 "Hospital Course," it says, "Knees were

8 tapped and were found contained purulent

9 pus of his right knee."

10 A Yes.

11 Q All right, So, there was purulent

12 pus in his right knee on January 3?

13 A Yes. Yes.

14 Q By the way this summary was dictated

15 January 28th, 1988, correct?

16 A Yes.

17 Q All right. And they reviewed his

18 past medical history at that time?

19 A There is a review, yes.

20 Q And under "Past Medical History" in

21 that first full paragraph, the following

22 sentence is contained: "The patient has

23 an infected right prosthetic knee which

24 was infected two months ago which has

25 grown methicillin-resistant staph aureus."

Did I read that correctly, sir?

A Yes.

Q Under "Hospital Course" on page 2, Doctor, in the middle of -- I see that you -- your copy also has the same thing highlighted as mine does, and that's -- that's Mr. Allison's copy that he gave you to look at?

A Yes.

10 Q Okay, and it's got the sentence that  
11 says, "His meningitis was most likely  
12 seated through the blood from his septic  
13 knee." Is that what that says, sir?

14 A Yes.

15 Q Doctor, could you please tell the  
16 jury what information you had to tell you  
what Mr. Cates' knee looked like on  
18 December 22nd and December 30th when he  
19 was seen by Dr. Matejczyk, other than what  
20 you've already told us? I mean, anything  
21 else?

22 A Well, I'm going by the records;  
23 that's all.

24 Q Okay.

25 A The records indicate that there was a

1 small area of open drainage at the site  
2 that they previously had sutured, and it  
3 did not appear to be acutely inflamed. So,  
4 she attempted to excise and suture it. If  
5 it had been inflamed and infected, she  
6 would have been a fool to do what she did.

7 Q So, there's no other information,  
8 then, other than what you've already told  
9 us?

10 A Exactly.

11 Q Okay. Can -- can a patient, or  
12 information from a patient assist you as a  
13 physician in analyzing and determining  
14 that patient's problems?

15 A Of course.

16 Q And, would you please tell the jury  
17 what depositions you were provided by Mr.  
18 Allison to review in order to give your  
19 expert opinion in this case.

20 A I reviewed Dr. Matejczyk's.

21 Q Okay.

22 A I recall reviewing that.

23 Q All right. What else?

24 A Dr. Bender's.

25 Q Okay.



1 A And I think those were the two main  
2 depositions that I reviewed,

3 MR. KAMPINSKI: Do you have the  
4 letter that sets forth the materials  
5 that you sent to him?

6 THE WITNESS: Okay. I reviewed  
7 originally Dr. Matejczyk's deposition  
8 and Dr. Blinkhorn's, and  
9 subsequently, I reviewed Dr.  
10 Battersby and Dr. Bender,

11 BY MR. KAMPINSKI:

12 Q Okay. Are those all the depositions  
13 that you then reviewed?

14 A I subsequently have reviewed Dr.  
15 Levine's deposition.

16 Q Okay. Does that, then, constitute  
17 all the depositions you've reviewed?

18 A Yes,

19 Q All right. Doctor, would your  
20 opinion in this case as to -- and really  
21 the difference between you and Dr. Levine  
22 is your belief as to whether or not this  
23 was a deep knee infection, Other than  
24 that, basically, you're in agreement with  
25 him.

1 MR. ALLISON: Objection,

2 BY MR. KAMPINSKI:

3 Q Would that be a fair statement?

4 A No, it's not true.

5 Q Well, I mean, without getting into  
6 all the specifics, if it was a deep --

7 A Specifics are important. We can't --  
8 we can't ignore the specifics.

9 Q Okay. If it was a deep knee  
10 infection, then you would agree with his  
11 analysis of how it should have been  
12 treated,

13 MR. ALLISON: Objection.

14 BY MR. KAMPINSKI:

15 Q Correct?

16 A If this patient or any patient had a  
17 deep knee infection, then, there's a  
18 specific course of treatment. This  
19 patient did not get a course of treatment  
20 for a deep knee infection.

21 Q Okay. If at the time that he was  
22 ~~discharged~~ on December 2nd, 1987, he had a  
23 ~~fever~~ from that point through the time  
24 that he was hospitalized on January 3rd,  
25 1988, if he did, would that affect your

1    ~~opinion?~~

2    A     ~~Yes.~~

3    Q     Okay.    If the ~~wound~~ on his right knee  
4    ~~was draining, sore, swollen, inflamed and~~  
5    ~~red during that period of time, would that~~  
6    ~~affect your opinion?~~

7    A     Between the time he was discharged  
8    and the time he was readmitted?

9    Q     Yes, sir.

10   A     Yes.

11   Q     And ~~how would your opinion be~~  
12   ~~affected by that?~~

13   A     Well, I would be concerned that it  
14   was something other than what the records  
15   I reviewed indicated.

16   Q     Well, Doctor, could you please  
17   explain to the jury why it is that you  
18   weren't given Mr. Cates' deposition to  
19   review?

20   A     I have no idea.

21                    MR. ALLISON:    Objection.

22   BY MR. KAMPINSKI:

23   Q     Well, are you aware of the fact,  
24   Doctor, that he testified that between the  
25   time he was discharged from the hospital

1 on December 2nd and the time he saw Dr.  
2 Matejczyk, it was ~~draining, sore, swollen,~~  
3 ~~inflamed and red, and that he had a fever?~~

4 Were you aware of that testimony?

5 A No.

6 Q Well, I mean, is it a coincidence  
7 that you were not given that --

8 MR. ALLISON: Objection.

9 Q -- or is that something that wouldn't  
10 have been important to you?

11 MR. ALLISON: Objection.

12 THE WITNESS: I have no idea  
13 why I wasn't given it.

14 BY MR. KAMPINSKI:

15 Q ~~And if those are the facts, Doctor,~~  
16 ~~then, the treatment rendered to this man~~  
17 ~~was inappropriate; wasn't it?~~

18 MR. ALLISON: Objection.

19 THE WITNESS: ~~If those are the~~  
20 ~~facts, then, the records that I~~  
21 ~~reviewed would be considered~~  
22 ~~incomplete.~~

23 MR. KAMPINSKI: That's all I  
24 have. Thank you.

25 MR. SEIBEL: Off the record.

1                   (At this time a short recess  
2                   was had.)

3   **CROSS-EXAMINATION OF PHILLIP LERNER, M.D.**

4   BY MR. SEIBEL:

5   Q     Dr. Lerner, my name is Bob Seibel. I  
6   represent Dr. Matejczyk in this case.  
7   Were you able to locate any evidence from  
8   the records when **Mr.** Cates was admitted to  
9   Metro on January 3rd that he was actually  
10  draining pus from his right knee wound?

11  A     No, I couldn't find anything that  
12  supported that,

13  Q     Was it reasonable to initially  
14  suspect a deep knee infection when he came  
15  to the hospital on November 13th of 1987?

16  A     Yes.

17  Q     And, were reasonable steps taken to  
18  rule out a deep knee infection?

19  A     Yes.

20  Q     Will you tell the jury: What is a  
21  negative culture?

22  A     A negative culture means that the  
23  specimen has been submitted to the  
24  microbiology laboratory, placed on  
25  appropriate culture media, and no bacteria

1 have grown in a given period of time.

2 Q And, Dr. Lerner, is it reasonable for  
3 the infectious disease specialist at Metro  
4 to choose the type and duration of  
5 antibiotic therapy for a superficial  
6 infection?

7 A Yes.

8 Q Now, in a patient like Mr. Cates, in  
9 drawing upon your education, training and  
10 experience in infectious diseases, do you  
11 find it unusual for there to have been no  
12 clinical symptoms of infection on December  
13 30th and, then, for this patient to have  
14 presented with signs and symptoms of an  
15 infection on January 3rd, just four days  
16 later?

17 MR. KAMPINSKI: I am  
18 sorry. Could you read the question  
19 back? Off the record for a second.

20 (At this time the previous  
21 question was read back by the  
22 court reporter.)

23 MR. KAMPINSKI: Objection.

24 THE WITNESS: ~~Given the~~  
25 ~~timeframe that we're dealing with, it~~

~~would be very unusual for the knee  
not to have given some objective  
warning or evidence on the 30th, four  
or five days earlier, that this was a  
Vesuvius brewing, if you will.~~

BY MR. SEIBEL:

Q Well, the records show that he had  
infections in a number of places on  
January 3rd.

10 A Yes.

11 Q Can -- in these types of infections,  
12 when they present in a number of places,  
13 can that happen suddenly, within days?

14 A The Staphylococcus is notorious for  
15 producing sudden, dramatic, overwhelming  
16 systemic infection.

17 MR. SEIBEL: I have nothing  
18 further. Thank you, Doctor.

19 MR. ALLISON: Dr. Lerner, I  
20 just have a few follow-up questions  
21 to what you and Mr. Kampinski  
22 discussed earlier.

23 REDIRECT EXAMINATION OF  
24 PHILLIP LERNER, M.D.

25 BY MR. ALLISON:

1 Q In your practice of infectious  
2 diseases here at Mt. Sinai Hospital, you  
3 actually have residents and fellows in  
4 infectious diseases who are involved in  
5 the care and treatment of patients --

6 MR. KAMPINSKI: Objection.

7 BY MR. ALLISON:

8 Q -- for which you are primarily  
9 responsible as the attending physician?

10 MR. KAMPINSKI: Objection as to  
11 what the doctor's practice is.

12 THE WITNESS: We don't have  
13 our own fellows, per se. We have an  
14 active residency program, and  
15 occasionally fellows from the other  
16 programs in the city may spend some  
17 time here.

18 BY MR. ALLISON:

19 Q Is it routine, Dr. Lerner, in the  
20 specialty of infectious diseases, for  
21 there to be residents and fellows,  
22 physicians-in-training, if you will,  
23 involved in patient care?

24 A Yes.

25 Q Are you familiar with the exact



procedures at Cleveland Metropolitan General Hospital as to who does the actual charting of the patient notes when you have an attending physician and a fellow or resident involved in a patient's care?

A Do I know exactly what their mechanism is?

Q Yes, sir.

A No, I don't.

10 Q Are you aware of whether it's  
11 customary in the specialty of infectious  
12 diseases or actually just in medicine for  
13 fellows and residents to frequently write  
14 the notes, even when they round with the  
15 attending physician, and the attending  
16 physician **looks** at the patient?

17 A Yes.

18 Q And that's not unusual; is it?

19 A It's not unusual at all.

20 Q And, Doctor, because no note may be  
21 written in a chart by the attending, does  
22 that mean that the attending didn't see  
23 the patient?

24 A No, **it** does not.

25 MR. KAMPINSKI: I'm going to

1 object and move to strike.

2 BY MR. ALLISON:

3 Q Doctor, I asked you earlier during  
4 your direct examination whether you  
5 thought that the care and treatment  
6 rendered to Mr. Cates by the infectious  
7 disease physicians, fellows, residents and  
8 other personnel of Cleveland Metropolitan  
9 General Hospital was appropriate from  
10 November 13th to January 3rd. My question  
11 to you now is: Was it correct?

12 MR. KAMPINSKI: Objection.

13 THE WITNESS: My answer is yes.

14 BY MR. ALLISON:

15 Q Is that your opinion to a reasonable  
16 degree of medical probability?

17 A Yes.

18 Q And, is the basis for that opinion  
19 the same as the basis for the opinion that  
20 you previously gave when I asked you if it  
21 was appropriate?

22 MR. KAMPINSKI: Objection.

23 THE WITNESS: Yes.

24 MR. ALLISON: Basis? Basis?

25 MR. KAMPINSKI: Asked and

1           answered 20 times. And whether or not  
2           it is correct or appropriate is not  
3           the measure. Whether or not it  
4           adhered to the standard of care is  
5           the measure.

6                   MR. SEIBEL:       I'm glad to hear  
7           that from your mouth.

8                   MR. KAMPINSKI: Well, I've heard  
9           that enough from Mr. Kalur to sort of  
10          have understood that objection.

11   BY MR. ALLISON:

12   Q       When the tissue was submitted to the  
13   histopathology laboratory that was excised  
14   on December the 22nd of 1987, was there  
15   any need to do any gram stains to  
16   determine whether there was infection  
17   present in that tissue?

18                   MR. KAMPINSKI: Objection.

19                   THE WITNESS:    No,

20   BY MR. ALLISON:

21   Q       Why is that, Doctor?

22   A       Well, they had taken swabs from the  
23   surface of the lesion, If there had been  
24   an infection in the tissue, it would be  
25   reflected in the organism's growing on the

1 surface.

2 Q Would the histopathology have been  
3 able to shed any further light on that?

4 A Very definitely. It would tell you  
5 what the significance of the organisms  
6 would be. It would also give you the  
7 opportunity, as I said before, to carry  
8 out special stains to examine for the type  
9 and nature of an infection, if one was  
10 suspected.

11 Q Now, during Mr. Kampinski's  
12 cross-examination, he made quite a show of  
13 a note written by Dr. Bender who was the  
14 infectious disease fellow involved in the  
15 care and treatment of Mr. Cates, and the  
16 suggestion that she made in her note of  
17 November 14th at 10:00 A.M., and under the  
18 suggestions, that was number four, I  
19 believe.

20 Doctor, does that say, "Will  
21 require repeat aspiration of the right  
22 ear," not knee as Mr. Kampinski stated  
23 that it did?

24 A It looks like "ear."

25 MR. KAMPINSKI: I think you read

1           it, Doctor, not me.

2           THE WITNESS:     Pardon?

3           MR. KAMPINSKI: I think you read  
4           it.

5 BY MR. ALLISON:

6 Q       So, all of those comments that Mr.  
7 Kampinski had about the suggestion of  
8 reaspirating the ear as opposed to the  
9 knee really don't have any value; do they?

10 A       It would appear not.

11 Q       Doctor, if there's a culture result  
12 in here that shows that the wound over the  
13 right knee during the first  
14 hospitalization was recultured, then, this  
15 wound would have been recultured during  
16 his hospitalization in November; wouldn't  
17 it?

18 A       It was, yes.

19 Q       And, are the results of that culture  
20 indicated, Doctor?

21 A       Yes.

22 Q       And what are they?

23 A       The specimen was submitted on the  
24 17th of November, and there was no growth  
25 ~~after three days.~~

1 Q Dr. Lerner, what's the definition of  
2 chronic, when you talk about a chronic  
3 condition?

4 A ~~Chronic condition is generally~~  
5 ~~something that has been present for a~~  
6 ~~number of mont~~

Acute is  
8 generally --

acute generally means a w  
10 or two; subacute somewhere between a  
11 couple of weeks and four to six; and  
12 chronic somewhere thereafter.

13 Q If other medical records about Mr.  
14 Cates' medical condition showed that he  
15 had positive staph cultures and various  
16 ulcers and that type of thing for more  
17 than two months prior to November 13th of  
18 1987, would he be considered a chronic  
19 staph carrier, then?

20 A One would strongly be suspicious of  
21 that designation.

22 Q When you and Mr. Kampinski were  
23 ~~discussing erythrocyte sedimentation~~  
24 ~~rates, and you gave the values of 66, 7~~  
25 ~~and 75 as being above the laboratory~~

1 ~~normals, what did you mean when you said~~  
2 ~~that those values were abnormal?~~

3 A Well, they fall beyond the range of  
4 what the determination would be in a  
5 series of -- of samples drawn from people  
6 who had no inflammation in their system.

7 Q Could those laboratory values of 75  
8 and 66 for the erythrocyte sedimentation  
9 rate in Mr. Cates be due to something  
10 other than infection in his knee?

11 MR. KAMPINSKI: Objection.

12 THE WITNESS: Yes, yes,

13 BY MR. ALLISON:

14 Q And what would that be, Doctor?

15 MR. KAMPINSKI: Objection.

16 THE WITNESS: ~~The most likely~~  
17 ~~explanation in this man with severe,~~  
18 ~~long-standing, chronic, disabling,~~  
19 ~~deforming rheumatoid arthritis is his~~  
20 ~~rheumatoid arthritis,~~

21 BY MR. ALLISON:

22 Q Doctor, were there any consults done  
23 on Mr. Cates' condition when he was  
24 rehospitalized on January the 3rd of 1988?

25 Yes.

18:43:41

18:43!"  
~~18:44!~~

1 Q Do any of those consults indicate  
2 whether or not Mr. Cates had an infection  
3 in his right knee joint during the prior  
4 hospitalization as opposed to -- or do  
5 they indicate that he had an infection in  
6 a superficial wound over the knee joint  
7 during the first hospitalization?

8 MR. KAMPINSKI: Objection. Move  
9 to strike.

10 THE WITNESS: Under a category  
11 heading of "PMH," meaning past  
12 medical history, the -- under  
13 category number one, "Prosthetic  
14 right knee infection with MRSA," in  
15 parentheses, "(not involving the  
16 joint) two months ago," and "12/22,  
17 hospitalized and treated with" -- and  
18 "12/22, hospitalized and treated with  
19 vancomycin for two weeks," and  
20 "11/87, an I&D at 12/12/87 when the  
21 joint was not involved."

18:45:00

22 BY MR. ALLISON:

23 Q Are there any other consultations?  
24 Who wrote that note, Doctor, if you can  
25 tell?



1 A This was Dr. S. -- this is written by  
2 a senior medical student and countersigned  
3 by Dr. Battersby, perhaps, or possibly  
4 Blinkhorn,

5 Q Are there any other consult notes in  
6 there which refer at all to Mr. Cates'  
7 past medical history prior to January 3rd  
8 of 1988?

9 A There's a note from Dr. Blinkhorn,  
10 MR. KAMPINSKI: I'm going to  
11 object to this entire line of  
12 questioning.

13 THE WITNESS: "Past medical  
14 history, right knee wound, 12/87,  
15 MRSA." And, then, under "Assessment  
16 and Recommendations," "MRSA," under  
17 item number three; "MRSA nasal  
18 carrier with recent right knee wound  
19 infection. Needs urgent  
20 anti-microbial therapy directed --",  
21 something. I can't read the last  
22 word.

23 MR. ALLISON: Thank you,  
24 Doctor. I have nothing further.

25 RECROSS-EXAMINATION OF

1 PHILLIP LERNER, M.D.  
2 BY MR. KAMPINSKI:  
3 Q I'm sorry, Doctor. Which consult  
4 were you reading a second ago about the --  
5 you said it was by a student, a senior  
6 medical student.

7 A Senior medical student. The first  
8 initial is D. I assume that's an SMS. I  
9 can't be sure,

10 Q And what you read to the jury on the  
11 first --

12 A It's a three-page consult.

13 Q Sure, but you read from the PMH part  
14 of that; did you?

15 A Yes.

16 Q And, well, gee, why don't you hold  
17 that up so the jury can see what you read,  
18 just so there's no confusion here.

19 MR. ALLISON: Do you want to  
20 give him a clean copy of the records?

21 MR. KAMPINSKI: No. You give him  
22 the one he read from, sir.

23 MR. ALLISON: Give him a clean  
24 copy, and let him hold it up.

25 MR. KAMPINSKI: No, that's not

18:46:21

clean. It is the same as yours.

MR. ALLISON: I guess we have a problem; don't we?

MR. KAMPINSKI: No. You had him read from it. You have him hold it up so the jury can see what he read from, sir. Now, if you want to object and if the judge wants to take it out, that's fine, but you can't hide evidence.

MR. ALLISON: I am not hiding evidence at all.

MR. KAMPINSRI: The doctor read from that. Now, let him hold it **up** to show the jury what he read from.

MR. ALLISON: What's the difference?

MR. KAMPINSKI: Well, if there's no difference, then why are you objecting?

MR. ALLISON: Then, let him hold yours up.

MR. KAMPINSKI: Are you going to let him do it or not?

MR. ALLISON: Let him hold

1           yours up.

2           MR. KAMPINSKI: I want him to

3           hold up the one that he read.

4           MR. ALLISON: No. Let him hold

5           yours up.

6           MR. KAMPINSKI: Well, we'll just

7           have to continue this until the judge

8           can rule on it. You asked the man to

9           read something and, then, you don't

10          want the jury to see what he read.

11          MR. ALLISON: Do you have the

12          records --

13          MR. KAMPINSKI: No. I want -- I

14          want --

15          MR. ALLISON: This is a copy

16          of the records that I provided

17          because the doctor could not find his

18          as I got there tonight, and I don't

19          really want to fight with you about

20          that.

21          MR. KAMPINSKI: But you are.

22          MR. ALLISON: No, I am not.

23          MR. KAMPINSKI: You asked him to

24          read it. I want the jury to see what

25          he read.

1                   MR. ALLISON:     No.   Give him  
2                   your copy. Let him hold up the page.  
3                   MR. KAMPINSKI: Okay.  
4 BY MR. KAMPINSKI:  
5 Q                Doctor --  
6                   MR. KAMPINSKI: Can I look at  
7                   your copy, then, while I have him  
8                   hold up the page so I can read along?  
9                   Is that okay, or you don't want me to  
10                  see it either?  
11                  MR. ALLISON:     Is that what you  
12                  want? Can you see it?  
13                  MR. KAMPINSKI: No. I need to  
14                  read it while he's reading it. That's  
15                  the point here.  
16                  MR. ALLISON:     You need to read  
17                  this while the doctor is reading? I  
18                  thought you wanted him to hold it up.  
19                  MR. KAMPINSKI: I do.  
20                  MR. ALLISON:     So, he's supposed  
21                  to hold it up and read it; is that  
22                  what you want?  
23                  MR. KAMPINSKI: I want the jury  
24                  to see what he read. Okay. I mean,  
25                  is that so tough?

1 MR. ALLISON: No. So, what's  
2 the difference? Let's hold up your  
3 copy.

4 MR. KAMPINSKI: If you want to  
5 hide yours, Mr. Allison, that's fine.

6 MR. ALLISON: I don't want to  
7 hide mine. I am not hiding mine at  
8 all.

9 MR. KAMPINSKI: Mr. Allison  
10 doesn't want you to read his. So,  
11 I'll tell you what --

12 MR. ALLISON: Objection, Mr.  
13 Kampinski.

14 BY MR. KAMPINSKI:

15 Q Show the jury what it is you read,  
16 Doctor. Just point it out to them, okay?

17 A I read these two highlighted  
18 sentences.

19 Q Right. Now --

20 MR. ALLISON: Of Mr.  
21 Kampinski's records.

22 BY MR. KAMPINSKI:

23 Q Are they highlighted in what you read  
24 too, in what Mr. Allison gave you?

25 A Yes.

18:46:58  
18:48:58

1 Q Okay. All right.

2 MR. KAMPINSKI: Anything else

3 you want to say, Mr. Allison, or can

4 I continue?

5 MR. ALLISON: Go right ahead.

6 MR. KAMPINSKI: Thank you.

7 BY MR. KAMPINSKI:

8 Q What you did, Doctor, is you read it

9 as though it were one sentence; didn't

10 you?

11 A I just read what was there.

12 Q Well, part of it, though, is above

13 the sentence that you read, and it's in

14 parentheses, right?

15 A Yes.

16 Q Is it in the same writing?

17 MR. ALLISON: Objection.

18 MR. SEIBEL: Objection.

19 THE WITNESS: I have no way of

20 saying that it was -- it appears to

21 be. It appears to be.

22 BY MR. KAMPINSKI:

23 Q Yes? When was it put in there?

24 MR. ALLISON: Objection.

25 THE WITNESS: I have no way of

knowing that.

BY MR. KAMPINSKI:

Q Well, why don't you read it as it was originally written without the parentheses on top?

MR. ALLISON: Because that's not the way it was written on the piece of paper,

BY MR. KAMPINSKI:

10 Q Go ahead, Doctor. Why don't you read  
11 it?

12 A Well, I can read it in sequence for  
13 you. "Prosthetic right knee - infection  
14 with --- and a little -- "infection" arrow  
15 "not involving joint" and parentheses  
16 above. There is a caret there. I'll give  
you the caret.

18 Q All right, but that was added after  
19 the sentence. What I'd like you to do is  
20 read the sentence, okay?

21 A Yes.

22 Q And, then, we can show the jury what  
23 was put in afterwards, okay?

24 MR. ALLISON: Objection.

25 BY MR. KAMPINSKI:



1 Q The caret points to the insertion?  
2 A Yes.  
3 Q Okay. Read it without the insertion.  
4 MR. ALLISON: Objection.  
5 MR. SEIBEL: Just for the  
6 record, there's no indication that  
7 that was made after the original  
8 sentence was written,  
9 MR. KAMPINSKI: Well, then, why  
10 is Mr. Allison trying to hide it from  
11 the jury?  
12 MR. ALLISON: Mr. Allison is  
13 not trying to hide anything, Mr.  
14 Kampinski.  
15 BY MR. KAMPINSKI:  
16 Q Read it, Doctor, without the  
17 insertion.  
18 MR. ALLISON: You read it  
19 without the insertion, Can't you read  
20 it?  
21 MR. KAMPINSKI: Wait a minute.  
22 You asked the doctor to read  
23 something, and you don't want me to  
24 ask him to read something?  
25 BY MR. KAMPINSKI:

1 | Q       Why don't you read it without the  
2 | insertion, Doctor, please?

3 | A       Okay. "Prosthetic right knee -  
4 | infection with MRSA two months ago," and  
5 | "12/22 - hospitalized and treated with  
6 | vancomycin." Now, there's something above  
7 | the line here.

8 |               MR. ALLISON:     Don't read that,  
9 |     Doctor.

10 |              THE WITNESS:     Okay- "And I&D  
11 |     --"

12 |              MR. KAMPINSKI:   Is this cute? Is  
13 |     this a game to you, sir?

14 |              THE WITNESS:     It's better than  
15 |     anything you and I had. "-- when the  
16 |     joint was not involved."

17 | BY MR. KAMPINSKI:

18 | Q       All right, So, the addition to that  
19 | line with the -- with the arrow and the  
20 | parentheses, that was added afterwards;  
21 | wasn't it, Doctor?

22 |              MR. ALLISON:     Objection.

23 | BY MR. KAMPINSKI:

24 | Q       Wasn't it, Doctor?

25 |              MR. ALLISON:     Objection.

1 THE WITNESS: It was inserted  
2 after the line was written.  
3 BY MR. KAMPINSKI:  
4 Q All right. So, without the  
5 insertion, this individual indicated that  
6 the right knee prosthesis was infected --  
7 MR. ALLISON: Objection.  
8 BY MR. KAMPINSKI:  
9 Q -- as did the other physicians.  
10 MR. ALLISON: Objection,  
11 THE WITNESS: The individual  
12 was a senior medical student that  
13 had, I assume, his work-up reviewed  
14 by the person who countersigned it.  
15 Now, at what point in time that was  
16 corrected and -- and the  
17 circumstances under which it was  
18 corrected, I really don't know. If  
19 you go into --  
20 BY MR. KAMPINSKI:  
21 Q Then, if you don't know --  
22 A I haven't finished my sentence. If  
23 you're going to state that every single  
24 thing that is written in a medical record  
25 is suspect because something is inserted

1 after that sentence is written, then,  
2 we've got a big problem, because I can  
3 show you dozens of records. We could walk  
4 up on the floor now and look at records  
5 where we write something in when we get  
6 additional information.

7 Q Well, you know --

8 A And that's the way it is in a setting  
9 where you're constantly reviewing material  
10 and elaborating and updating.

11 Q That's terrific, Doctor, but don't  
12 you suggest to the jury that this was one,  
13 total sentence without telling them that  
14 there was an insertion. That's the point.

15 A I agree with you. We now know there  
16 was an insertion, but we don't know when  
17 it was inserted.

18 Q Does the sedimentation rate affect --  
19 as a result of rheumatoid arthritis, is it  
20 there at all times in somebody who has  
21 rheumatoid arthritis or when the  
22 rheumatoid arthritis is active?

23 MR. SEIBEL: Objection,

24 MR. ALLISON: Objection.

25 THE WITNESS: When you have

18:53:10.

1           chronic, disabling, deforming  
2           rheumatoid arthritis, the  
3           sedimentation rate is of no value  
4           because it is a reflection of all  
5           that's going on in -- in the joints.

6 **BY MR. KAMPINSKI:**

7   Q       Can you answer my question? Is it at  
8   all times, or is it when the rheumatoid  
9   arthritis is active?

10  A       I am telling you that, when a patient  
11  has the stage, the end stage, disabling,  
12  deforming rheumatoid arthritis that this  
13  patient has, you cannot use a  
14  sedimentation rate to reflect anything.

15  Q       What is active versus inactive  
16  rheumatoid arthritis?

17  A       Active is when the patient is  
18  experiencing continuing, ongoing symptoms,  
19  both subjective and objective, that  
20  reflect the process that is attacking his  
21  synovial tissue, the lining of the joints,  
22  and the soft tissues surrounding these  
23  joints.

24  Q       All right. Doctor, at your  
25  deposition, page 37, I asked you the

1 following questions under oath, sir, three  
2 days ago, and you gave me the following  
3 answers.

4 "Question: You just told me the sed  
5 rate was of no significance in this case."

6 "Answer: I did not tell you that."

7 "Question: What significance was it,  
8 then?"

9 "Answer: I said that in the presence  
10 of ~~active~~ rheumatoid arthritis, the  
11 sedimentation rate is not a parameter by  
12 which you can measure a response."

13 "Question: Okay, you just told me he  
14 had active rheumatoid arthritis."

15 "Answer: It would appear that he  
16 had active rheumatoid arthritis at that  
17 point in time. Okay."

18 "Question: So what significance did  
19 it have in this case; any?"

20 "Answer: It's not something that you  
21 can use as a monitor of response to  
22 infection and the treatment of it."

23 Do you remember those questions  
24 and those answers?

25 A Absolutely.

1 Q All right. Would you point out to me  
2 the evidence indicating that he had active  
3 rheumatoid arthritis?

4 A He was requesting medication for  
5 multiple painful joints when he was  
6 hospitalized in November. He was asking  
7 constantly and receiving medication. I  
8 can look up the medication charts for you,  
9 the nursing notes that indicate that.

10 Q When was the last time he saw a  
11 rheumatologist before he came into the  
12 hospital?

13 A I have no idea.

14 Q Well, weren't you given those notes  
15 to review, Doctor?

16 A Doctor --

17 Q Ballou?

18 A Dr. Ballou's notes, I don't believe I  
19 reviewed those.

20 Q Oh. Well, let's make it easier,  
21 Doctor. I mean, is there any indication in  
22 here that he was having pain due to  
23 rheumatoid arthritis?

24 A Well --

25 Q As opposed to pain as a result of his

1 knee hurting him?

2 MR. ALLISON: If you'd like  
3 the doctor to read through all the  
4 progress notes, he can surely do  
5 that.

6 THE WITNESS: Well, again, I  
7 have not read this chart over from  
8 beginning to end.

9 BY MR. KAMPINSKI:

10 Q Okay. So, you just make statements  
11 in the answer.

12 A No, I didn't make statements.

13 MR. ALLISON: Objection.

14 THE WITNESS: There was an  
15 indication when I first reviewed the  
16 chart, and I'm perfectly happy to sit  
17 here and do it again for you, that  
18 this man was asking for -- asking for  
19 and receiving pain medication for  
20 complaints of pain in joints other  
21 than the one that was the focus of  
22 attention in -- in November.

23 BY MR. KAMPINSKI:

24 Q All right, Let's go off the record  
25 and you can find that for me.



1 (At this time a short recess  
2 was had.)

3 BY MR. KAMPINSKI:

4 Q Okay.

5 A Okay. There's a note at 3:00 P.M. on  
6 11/16/87 under "S," Subjective, "I need my  
7 Percodan. Subjective: Patient  
8 complaining body aches and pains.  
9 Medicated times two with Percodan with  
10 fair relief of pain. Patient positioned  
11 for comfort."

12 On 11/17/87 at 1:30 or maybe 7:30  
13 P.M., I'm sorry, 7:30 P.M., "My arthritis  
14 doesn't act **up** as much as it did at home,  
15 but I could use a Percodan." And he was  
16 medicated with Percodan.

17 On 11/18 at 3:20 P.M., "Can I have my  
18 pain pill, please?" "Objective: Patient  
19 complaining of overall arthritis pain,  
20 medicated times three today with Percodan,  
21 one tablet per mouth. Stated Percodan is  
22 the only thing that relieves his pain."

23 23rd, 11/23 at 2:30 P.M., "I'm  
24 sore all over today, and I ache," or "and  
25 ache."

25th, "Subjective: I'm not up to par this morning," "Objective: Patient requesting Percodan for overall complaints of arthritis pain, Medicated times two with Percodan, two tablets by mouth."

25th at 10:40 P.M., "Medicated per RN times two for complaints of pain."

11/26 at 3:00 P.M., "Patient has had painful day. Medicated times two with Percodan and has slept most of the day. Medication relieves pain somewhat."

12 27th, sometime in the P.M.,  
13 "Impaired mobility. Patient complaining  
14 of marked arthritic pain, Has been in bed  
15 most of shift."

16 28th, 3:00 P.M., "Complaining of  
17 pain, arthritic type. Needs something  
18 with Percodan. Two by mouth with relief."

19 And that was the last one that I  
20 saw there,

21 Q Did Dr. Ballou see him in this  
22 hospital?

23 A I don't believe he did on that  
24 occasion.

25 Q Okay. He wasn't called in as a

1 consult for any problems with rheumatoid  
2 arthritis?

3 A There's no evidence that he was  
4 called in.

5 Q Doctor, when he came back into the  
6 hospital on January the 3rd, he -- he was  
7 septic; was he not?

8 A Yes.

9 Q All right. Would you -- that -- that  
10 means the infection had gotten into his  
11 bloodstream, correct?

12 A It means he had an infection in his  
13 bloodstream which had spread to various  
14 parts of his body.

15 Q And that's what you meant when you  
16 referred to Mr. --

17 MR. ALLISON: Allison.

18 MR. KAMPINSKI: Mr. Seibel's  
19 question.

20 MR. ALLISON: Mr. Seibel's  
21 question.

22 BY MR. KAMPINSKI:

23 Q -- Mr. Seibel's question about the  
24 infection being in different parts of his  
25 body, right?

1 A Yes.

2 Q And -- and he had meningitis, meaning  
3 it was what? In his spinal canal?

4 A In the tissues that cover the brain  
5 and the spinal cord,

6 Q And it was, I think you said earlier,  
7 around his heart?

8 A He had evidence of infection on his  
9 heart valves.

10 Q Okay. And, ~~so, in other words, it~~  
11 ~~was carried to the different organs or~~  
12 ~~parts of his body through the blood; would~~  
13 ~~that be the way it would happen?~~

14 A Yes.

15 Q All right. ~~He almost died in that~~  
16 ~~hospitalization; didn't he?~~

17 A He was very sick.

18 Q And how long was he hospitalized for?  
19 Do you know?

20 A I don't recall,

21 Q Okay, when he was discharged he was  
22 then sent for rehabilitation.

23 A I believe he was, yes.

24 Q All right, and that was all due to  
25 the infection; wasn't it?

1 A Yes.

2 Q Okay. Thank you. That's all I have.

3 MR. SEIBEL: Nothing further.

4 MR. ALLISON: Nothing for me,  
5 thanks. We won't waive signature.

6 MR. TACKLA: How about the  
7 one-day filing requirement of the  
8 videotape?

9 MR. KAMPINSKI: Sure, we'll waive  
10 that,

11 MR. SEIBEL: That is fine.

12 MR. ALLISON: Thank you very  
13 much for your time, Doctor.

14 THE WITNESS: All right.

15

16 - - - o0o - -

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE

The State of Ohio,       )  
County of Cuyahoga.       )

I, Luanne Protz, a Notary Public  
within and for the State of Ohio, duly  
commissioned and qualified, do hereby  
certify that the above-named witness,  
PHILLIP LERNER, M.D., was by me first duly  
sworn to testify to the truth, the whole  
truth and nothing but the truth in the  
case aforesaid; that the testimony then  
given by the above-referenced witness was  
by me reduced to stenotypy in the presence  
of said witness; afterwards transcribed;  
and that the foregoing is a true and  
correct transcription of the testimony so  
given by the above-referenced witness.

I do further certify that this  
deposition was taken at the time and place  
in the foregoing caption specified and was  
completed without adjournment.

I do further certify that I am not a  
relative, counsel or attorney for either  
party, or otherwise interested in the  
event of this action.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN WITNESS WHEREOF, I have hereunto  
set my hand and seal of office at  
Cleveland, Ohio this 13<sup>th</sup> day of  
Sept A.D., 1991.

Luanne Protz

Luanne Protz-Notary Public  
Within and for the State of  
Ohio

My commission expires 4/9/93.