

This deposition was delivered to C.A.T.A. with the following pages missing

Pages 4 to 4

Pages 60 to 75

Pages \_\_\_\_\_ to \_\_\_\_\_

DOC. 262

IN THE COURT OF COMMON PLEAS  
CUYAHOGA COUNTY, OHIO  
CASE NO: 167835

TRAVIS CATES, ET AL.,

Plaintiffs,

vs.

DEPOSITION OF  
JEROME F. LEVINE, M.D.

CLEVELAND METROPOLITAN  
GENERAL HOSPITAL and MARY  
BLAIR MATEJCZYK, M. D. ,

Defendants.

---

TRANSCRIPT of testimony as taken by and  
before THERESA L. TESSITORE, a Certified Shorthand  
Reporter and Notary Public of the State of New  
Jersey, at the HACKENSACK MEDICAL CENTER, JOHNSON  
HALL, 30 PROSPECT AVENUE, HACKENSACK, NEW JERSEY,  
on FRIDAY, AUGUST 23, 1991, commencing at 1:35  
p.m.

---

THERESA L. TESSITORE, C.S.R.  
SOPHISTICATED STENO  
Computer Aided Transcription  
77 Wilson Street  
Hackensack, N.J. 07601  
Phone: 201-342-8714

(Title page continued, deposition of Jerome  
F. Levine, August 23, 1991)

APPEARANCES :

CHARLES KAMPINSKI, ESQ.,  
BY: CHRISTOPHER MELLINO, ESQ.,  
For the Plaintiff.

ARTER & HADDEN, ESQS.,  
BY: TOM ALLISON, ESQ.,  
For the Defendant, Cleveland Metropolitan  
General Hospital.

JACOBSON, MAYNARD, TUSCHMAN & KALUR,  
ESQS.,  
BY: ROBERT C. SEIBEL, ESQ.,  
For the Defendant, Mary Blair Matejczyk,  
M.D.

AUGUST 23, 1991

I N D E X

<u>WITNESS</u>	<u>DIR</u>	<u>CRS</u>	<u>RED</u>	<u>REC</u>
JEROME F. LEVINE				
BY: MR. SEIBEL	4		108	
BY: MR. ALLISON		68		116

E X H I B I T S

<u>NUMBER</u>	<u>DESCRIPTION</u>	<u>IDENT</u>
Ex-1	Jerome Levine's Report	4
Ex-2	Curriculum Vitae	8

1 and fellowship.

2 Q. What is your home address?

3 A. 6 Burlington Place, Woodcliff  
4 Lake, New Jersey.

5 Q. What's the zip code there?

6 A. 07675.

7 Q. Are you married?

8 A. Yes, I am.

9 Q. What's your wife's name?

10 A. Helaine, H-E-L-A-I-N-E, Levine.

11 Q. What is your date of birth?

12 A. April 10th, 1949.

13 Q. And where were you born

14 A. In Brooklyn, New York.

15 Q. What is your social security  
16 number?

17 A. 126-38-8538.

18 a. Do you have a C.V. with you?

19 A. I do upstairs with my secretary.

20 Q. All right.

21 Is there a way you can call her and have  
22 her bring one down?

23 A. Absolutely.

24 Q. It would be helpful?

25 A. Sure.

Q. Could we do that now?

A. Absolutely.

Q. While we're waiting for your secretary to bring down your C.V., tell me about your current practice?

A. I'm currently in the practice of infectious diseases here at Hackensack Medical Center.

Q. Are you in a group practice?

A. I am associated with two other gentleman, though it's an individual practice.

We're not a corporation or a formal group.

Q. And how long has that been the nature of your practice?

A. Since 1982.

Q. What hospitals are you affiliated with?

A. Hackensack Medical Center and Valley Hospital in Ridgewood, New Jersey.

Q. Have you had privileges at any other institution?

A. I was on staff at a hospital in Rockland County called Good Samaritan Hospital earlier in the eighties.

1 Q. What happened to those privileges?

2 A. I stopped going up there. I just  
3 didn't have the time.

4 Q. Is that in New Jersey?

5 A. No, that's in Rockland County, New  
6 York.

7 Q. New York.

8 Have you ever had your hospital  
9 privileges revoked, suspended or restricted in  
10 any way?

11 A. No, I did not.

12 Q. Do you have any administrative  
13 positions here at the hospital?

14 A. Yes, I do.

15 Q. Tell me about those.

16 A. Actually, I have several  
17 positions.

18 I'm the Program Director for Fellowship  
19 Training in Infectious Diseases, I'm the  
20 Associate Program Director of Internal Medicine  
21 at Hackensack Medical Center and I'm the  
22 Assistant Chief of Infectious Diseases at  
23 Hackensack, also.

24 Q. About how much of your  
25 professional time do those administrative

1 duties take up?

2 A. Probably about 20 to 25 percent of  
3 my time.

4 Q. Your secretary just handed us a  
5 copy of your C.V.

6 Is this up to date?

7 A. May I look at it?

8 Q. Sure.

9 A. Yes, it appears to be.

10 Q. Okay.

11 MR. SEIBEL: Let's mark this as  
12 Exhibit 2 for identification.

13

14 (Exhibit received and marked Ex-2 for  
15 identification.)

16

17 Q. I'm going to hand you just for the  
18 record what just been marked as Exhibit 2 and  
19 just would you identify it for the record,  
20 please?

21 A. It is a copy, a current copy of my  
22 curriculum vitae.

23 Q. Now, would you take a look at  
24 that, Doctor, and make sure there are no  
25 additions, corrections, modifications, changes



1       that you'd like to make before we accept that  
2       as an accurate representation of your  
3       professional qualifications?

4               A.       It appears accurate.

5               Q.       Where were you licensed to  
6       practice medicine?

7               A.       In New Jersey.

8               Q.       Any other states?

9               A.       Not at the present time.

10              Q.       Have you been licensed in the past  
11      in other states?

12              A.       Yes, I was.

13              Q.       What states?

14              A.       New York.

15              Q.       What happened to that licensure?

16              A.       I let it lapse.

17              Q.       When was that?

18              A.       I really don't recall when the  
19      lapse happened, it's just suddenly I realized  
20      that I just never renewed my license in New  
21      York.

22              Q.       When were you originally licensed  
23      in New York.

24              A.       I guess when I finished my  
25      internship in 1977, I believe.

1 Q. And when were you originally  
2 licensed in New Jersey?

3 A. In 1982.

4 Q. Have you applied for licensure in  
5 any other states?

6 A. No, I have not.

7 Q. Did you attend any other colleges  
8 besides Union College?

9 A. No, I did not.

10 Q. And did you attend any other  
11 medical schools besides New York University?

12 A. No, That's the only one.

13 Q. Did you go directly from college  
14 to medical school?

15 A. Yes, I did.

16 Q. Did you go directly from high  
17 school to college?

18 A. Yes, I did.

19 Q. Are you board certified?

20 A. Yes, I am.

21 Q. In what areas are you board  
22 certified?

23 A. In both internal medicine and the  
24 subspecialty of infectious diseases.

25 Q. When did you first take your

1 internal medicine boards?

2 A. I believe 1979 -- 1979.

3 Q. Pass them on the first try?

4 A. Yes, I did.

5 Q. And your infectious disease  
6 boards, when did you take those?

7 A. 1983.

8 Q. Was that the only time you took  
9 that test?

10 A. Yes, it is.

11 Q. Is there a recertification  
12 requirement for infectious diseases?

13 A. Not at the present time.

14 Q. How about for internal medicine?

15 A. There is, though, I think I'm  
16 grandfathered in on that, so there is a  
17 recertification required, but I already passed  
18 before that.

19 Q. So, that requirement came into  
20 effect after you became board certified?

21 A. Correct.

22 Q. You told us that you're currently  
23 in an infectious disease practice, but tell me  
24 about the nature of your practice?

25 A. Well, it is a hospital based

1 practice, that is, the majority of my  
2 consultations are in-hospital, hospital  
3 patients, though there has become an  
4 increasingly large out-patient population, so,  
5 the practice is basically centered at  
6 Hackensack.

7 Q. Are your patients primarily  
8 adults, adolescents, minors?

9 A. In my particular practice, the  
10 vast majority are adults, that is, over the age  
11 of eighteen.

12 Q. What about breaking those patients  
13 down.

14 How about in terms of elderly versus --

15 A. It appears that the only thing I  
16 can tell you is that based on the patients that  
17 have Medicare, Medicare population runs about  
18 60 percent of my practice.

19 So, I would assume, therefore, over the  
20 age of 65 is about 60 percent, approximately.

21 Q. Of your practice?

22 A. Over the age of 65.

23 Q. Have you ever testified as an  
24 expert witness before?

25 A. Yes, I have.

1 Q. How many times?

2 A. In deposition?

3 Q. Well, how many -- let's put it  
4 this way, in how many cases have you agreed to  
5 act as an expert witness?

6 A. Probably about fifteen or so.

7 Q. And that includes depositions or  
8 reports?

9 A. Right.

10 Q. Consultations?

11 A. Correct.

12 Q. Of lawyers?

13 A. Corrects.

14 Q. About fifteen times?

15 A. Yeah.

16 Q. When did you first start acting as  
17 an expert witness?

18 A. I don't recall the exact date, it  
19 was a number of years ago.

20 Q. Within the last five years?

21 A. Probably around the mid-eighties,  
22 '85, '86.

23 Q. So, you review or you take about  
24 three cases a year?

25 A. It averages probably about three,

1 four cases a year, correct.

2 Q. All right. And how do those cases  
3 that you review break down between plaintiffs  
4 and defendants?

5 A. Again, this would be somewhat of a  
6 guess, but probably about 40 percent  
7 defendants, 60 percent plaintiffs.

8 Q. Have those all been medical  
9 malpractice cases?

10 A. No. There were a few that were, I  
11 guess, considered civil cases, one or two civil  
12 cases.

13 Q. Actual actions not against  
14 doctors?

15 A. Right, correct.

16 Q. Of the medical malpractice cases  
17 you've reviewed how many are plaintiffs versus  
18 how many are defendants?

19 A. That's about 60/40.

20 Q. Are you affiliated with any  
21 services that obtain expert witnesses for  
22 lawyers?

23 A. I'm not affiliated with any.

24 Q. Do you know how Mr. Kampinski got  
25 your name in this case?

1           A.       He got it through a Leslie  
2 Klausner (phonetic) or Clausner (phonetic).

3           Q.       Who is she?

4           A.       She's somebody that runs some  
5 service called -- I maybe guessing wrong,  
6 Medsource (phonetic) or something like that and  
7 if you ask me, I have absolutely no idea where  
8 she got my name from, I just don't know.

9           Q.       Where is she located?

10          A.       I believe Ohio.

11          Q.       Have you had any communication  
12 with her?

13          A.       About the details of this case,  
14 no.

15          Q.       No, no.

16                 Just have you had any communication with  
17 Leslie Klausner (phonetic)?

18          A.       Yeah, she's the one that called me  
19 with, I guess, this case and asked me if I was  
20 interested in reviewing it.

21          Q.       What did she tell you?

22          A.       I don't recall.

23          Q.       She called you on the telephone?

24          A.       On the telephone, correct.

25          Q.       Do you have any -- did she ever

1 send you a letter?

2 A. She sent me some letters  
3 explaining that, which I don't have, I don't  
4 save those letters. I'm sure she sent me  
5 letters, but I've just given you the details, I  
6 don't have any direct recollection of it.

7 Q. Well, what was the gist of the  
8 letters?

9 A. Again, I believe this is in 1989,  
10 it's just letting me know the name of the case  
11 and that Mr. Kampinski would be the lawyer and  
12 be sending me the material.

13 That's all I recall.

14 Q. Did she enclose any compensation  
15 for you?

16 A. I'm sorry?

17 Q. Compensation?

18 A. Did she? No, she did not.

19 Q. What states have you acted as an  
20 expert witness in?

21 A. New Jersey, New York, Texas.

22 There was a case that went to Federal  
23 Court from Florida and this is the case I'm  
24 reviewing here from Ohio, that's all I recall.

25 Q. Another case in Ohio besides this



1 one?

2 A. Oh, no. That, I don't recall.  
3 This case is Ohio. I don't know if there have  
4 been any other cases besides that, I can't  
5 remember.

6 Q. You're not aware of any other  
7 cases in which you've acted as an expert  
8 witness in Ohio?

9 A. That I can recall, there maybe, I  
10 just don't have any recollection.

11 Q. Do you recall reviewing a case  
12 involving the Cuhahoga County Jail, strep  
13 pneumonia?

14 A. There was a case, a patient with  
15 streptococcus pneumonia, I guess a prisoner  
16 that, I believe, expired or something to that  
17 affect, yeah.

18 I don't remember where that was, though.

19 Q. Have you ever testified as an  
20 expert witness in a case where an orthopedic  
21 surgeon was a defendant?

22 A. I gave deposition in a case, yes.

23 Q. Just one other time?

24 A. To the best of my recollection,  
25 one other time, I believe.

1 Q. How many times in medical  
2 malpractice cases have you taken a position  
3 that an orthopedic surgeon was negligent?

4 A. Other than this case, as I recall  
5 maybe the most one or two other cases, but,  
6 again, I don't have any direct recollection of  
7 that, just a vague remembrance.

8 Q. Okay. Could be one? Could be  
9 two?

10 A. At the most, only several cases  
11 of --

12 Q. Could be zero?

13 A. No, I'm pretty sure there was  
14 another case, I just don't remember the details  
15 of it at all, but I know there was at least one  
16 other case I'm aware of.

17 Q. Well, what was that case about,  
18 the other case involving the orthopedic  
19 surgeon?

20 A. You know, I mean was another -- it  
21 was obviously an infection of a joint and I  
22 just don't -- I really don't want you to give  
23 you misinformation. I don't recall any of the  
24 details of the case, I'm sorry.

25 Q. Well, what do you recall about the

1 case?

2 A. Just that it was another infection  
3 of some joint and bone infection, obviously,  
4 and I just don't remember. I know that I felt  
5 there was negligence by the orthopedic surgeon.

6 Q. What did that orthopedic surgeon  
7 do wrong?

8 A. I don't recall.

9 Q. Would this case have taken place  
10 in the last five years?

11 A. That I was asked to review the  
12 case or that the negligence presumably  
13 occurred?

14 Q. That you were asked to review the  
15 case.

16 A. In the last five years, sure.

17 Q. Tell me about your training in  
18 orthopedics?

19 A. Well, I'm obviously not an  
20 orthopedist. My training involved my  
21 fellowship in New York City.

22 Q. Have you ever performed orthopedic  
23 surgery?

24 A. No, I have not.

25 Q. Have you ever testified regarding

1 orthopedic surgery?

2 A. No, I have not.

3 Q. Have you ever managed orthopedic  
4 patients for orthopedic conditions?

5 A. I've been involved with numerous  
6 cases of orthopedic infections and I have --  
7 I've been in the operating room with orthopedic  
8 surgeons on cases involving infection, where,  
9 obviously, I don't scrub, I'm just an observer  
10 and to obtain cultures, et cetera.

11 Q. So, you have not actually followed  
12 a patient for an orthopedic surgery condition?

13 A. I followed a patient with an  
14 orthopedic infection.

15 Q. Either an orthopedic infection or  
16 orthopedic conditions?

17 A. I guess you have to define what  
18 you mean by orthopedic conditions to me.

19 Q. Fracture?

20 A. If they're not infected, no.

21 Q. All right. How many hours did you  
22 spend reviewing these materials before you  
23 wrote your report?

24 A. I don't have any recall of that.

25 Q. How much did you charge Mr.

1 Kampinski for your services?

2 MR. MELLINO: Objection. You can  
3 answer.

4 A. It was \$200 per hour and I don't  
5 remember what the bill is.

6 Q. Do you have those billing records  
7 here at the office?

8 A. Any material I have with this file  
9 was here. So, no, I mean, the only way I'd  
10 know about that is obviously going back to my  
11 deposit slips from '89 and '90, but I have  
12 absolutely no idea how many hours of billing I  
13 did on it, I really don't.

14 Q. What's your best recollection?

15 A. I mean to be, just a pure guess, I  
16 just can't tell you, I don't remember.

17 Q. Give me an idea.

18 MR. MELLINO: You're allowing him  
19 to guess?

20 MR. SEIBEL: Yeah.

21 A. Three hours.

22 Q. Have you drafted any other reports  
23 in this case besides the one that's dated April  
24 4, 1989?

25 A. No.

1 Q. Let's hand you what's been marked  
2 as Exhibit 1 and ask you to identify it for the  
3 record.

4 A. This is a copy of the report that  
5 I sent to a Mr. Kampinski concerning this case.

6 Q. Is this the only report that you  
7 you've written in this case?

8 A. Yes, it is.

9 Q. And you have a copy of it in front  
10 of you, don't you, Doctor?

11 A. Yes, I do.

12 Q. Does this report state all your  
13 opinions?

14 A. Concerning this particular case?

15 Q. Right.

16 A. Yes.

17 Q. And as we sit here today, do you  
18 have any changes, modifications, corrections to  
19 this report?

20 A. Not as far as the conclusions, no.

21 Q. What about anything else?

22 A. Well --

23 MR. MELLINO: I'm going to object  
24 to the line of questioning in that I mean I  
25 don't think he meant to set forth all his

1 opinions in the report.

2 MR. SEIBEL: Chris, that wasn't  
3 the question. You weren't listening. I asked  
4 him if he had any changes, modifications or  
5 corrections to what he has written in the  
6 report.

7 A. I do not.

8 MR. MELLINO: I heard your  
9 question and I heard your question before that  
10 one and I just don't want it to be construed  
11 that you're trying to limit him to his opinions  
12 that he's written in the report because that  
13 wasn't the purpose of providing the report to  
14 put forth every opinion he had in this case  
15 and, obviously, that's why we're here today, so  
16 you can find out what his opinions are.

17 MR. SEIBEL: Well, as you recall,  
18 I did ask Doctor Levine if this report stated  
19 all his opinions in the case and he told me it  
20 did.

21 Do you have a problem with that?

22 Is that answer wrong, Doctor?

23 A. It depends how we look at it. If  
24 the question is did I put in the -- into the  
25 letter why I got to that statement, no.

1            Obviously, there are things that I do in  
2 here to explain how I got to that point which  
3 is obviously why we're here, but otherwise  
4 there's no major corrections in this report.

5            Q.        When you rendered your -- or when  
6 you -- strike that.

7            When you came to your conclusions in  
8 this case, did you review any medical  
9 literature?

10          A.        No, I did not.

11          Q.        All right. Have you since  
12 reviewed any medical literature?

13          A.        No, I have not.

14          Q.        You listed a number of your own  
15 publications in your C.V.

16                    Are these works authoritative?

17                    MR. MELLINO: Objection. What do  
18 you mean by works?

19          A.        Well, what do you mean by  
20 authoritative?

21          Q.        Well, how do you define that word?

22          A.        I'm not sure, I don't know what  
23 you mean by the word authoritative. These are  
24 materials that I wrote concerning those topics.  
25 Obviously, they represented my opinions and



1 interpretations.

2 Q. Are you willing to stand behind  
3 what you wrote in these articles?

4 A. Oh, sure.

5 Q. Perhaps, I don't want to be  
6 unfair, you know, I know that medical  
7 literature at times will propose ideas and then  
8 they become either obsolete or you realize that  
9 the idea was wrong.

10 Are there any of the articles that  
11 you've written, because they do date back to  
12 the -- at least the mid-eighties, is there  
13 anything that Y o u published in these  
14 articles that you now recognize is not accepted  
15 or has become obsolete or whatever?

16 A. I mean, there are things here  
17 we've learned more about, I wouldn't say  
18 obsolete, but obviously this information is  
19 obtained over the last seven years and some of  
20 these things have modified or at least improved  
21 on what we had written about in the early  
22 eighties, but there's nothing here that I would  
23 say that I don't stand behind or feel that  
24 that's no longer correct or valid.

25 Q. All right.

1           You indicate in your report that you  
2 reviewed some medical records, correct?

3           A.       Correct.

4           Q.       Okay. Where are those records?

5           A.       I returned them to Mr. Kampinski.

6           Q.       Do you know whether the records  
7 you reviewed were complete copies of those  
8 hospital admissions?

9           A.       I have no way of knowing.

10          Q.       And since the time that you wrote  
11 your report and reviewed these records, what  
12 additional materials have you reviewed?

13          A.       Just these three depositions we  
14 have here in front of me.

15          Q.       For the record it's Doctor  
16 Matejczyk's deposition, Doctor Persod's  
17 (phonetic) and Doctor Blinkhorn's,  
18 B-L-I-N-K-H-O-R-~~N~~linkhorn's.

19          A.       Correct.

20          Q.       When did you return these records  
21 to Mr. Kampinski?

22          A.       I would have to assume, and that's  
23 all it is, it was around the same time I sent  
24 this letter back to him on April 4th, 1989.

25          Q.       Did he request you send the

1 records back?

2 A. As I recall, yes, but normally we  
3 would save the records.

4 Q. So, in the cases where you've  
5 acted as expert witness, it's unusual for the  
6 lawyer to ask you to send the records back?

7 A. Yes, it is.

8 Q. Has that ever happened in any  
9 other case that you reviewed?

10 A. Not to my recollection.

11 Q. The records that you got, were  
12 they copies or originals?

13 A. I don't recall.

14 Q. What is your fee for testifying at  
15 a deposition?

16 A. \$300 per hour.

17 Q. In your practice are you consulted  
18 by orthopedic surgeons?

19 A. Yes, I am.

20 Q. How often?

21 A. As often as they feel necessary.

22 Q. Well, say, on a monthly basis?

23 A. You're asking how many orthopedic  
24 cases I see per month?

25 Q. Sure.

1           A.       Average is probably about ten  
2 cases per month, both in the hospital as well  
3 as in the office. I mean there are referrals  
4 to the office I see also.

5           Q.       And when you consult on an  
6 orthopedic case, what role do you play?

7           A.       As the consultant, they're  
8 obviously asking my opinion in terms of, I  
9 guess, identification of what the infection --  
10 does an infection exist, first question. If it  
11 does exist, what is causing the infection?  
12 What organisms? What's the best modality of  
13 treatment?

14          Q.       Do you then prescribe therapy?

15          A.       Yes.

16          Q.       Antibiotics?

17          A.       Well, whatever it maybe.

18          Q.       When you are consulted on an  
19 orthopedic case and then advised certain  
20 therapy and actually prescribed therapy, what  
21 responsibility does the orthopedic surgeon have  
22 for your decisions on the appropriate therapy?

23          A.       I think, generally, I mean I  
24 discuss each and every case after I see the  
25 patient with the orthopedic attending, to talk

1 about it, have our ideas go back and forth,  
2 find out exactly what their opinion is, what  
3 their perspective is of the case. I will write  
4 the antibiotic orders in the vast majority, or  
5 my fellows will under my supervision or the  
6 resident under my supervision.

7 The question of an -- obviously, what  
8 you're leading to is -- what I'm assuming  
9 you're leading to is whether the patient  
10 required surgical intervention or not. That's  
11 clearly an orthopedic decision ultimately,  
12 although I very frequently will recommend  
13 surgical intervention at some point and then it  
14 really is up to the surgical attending or the  
15 orthopedic attending whether they feel they  
16 agree with that or not.

17 Q. All right. From an infectious  
18 disease standpoint, do you take responsibility  
19 for the treatment that you prescribe?

20 A. Yes, I do.

21 Q. And do you have an opinion in this  
22 case that Doctor Matejczyk's care of Mr. Cates  
23 deviated from accepted standards of care for an  
24 orthopedic surgeon?

25 A. I think it deviated from the

1 accepted standards of care in the treatment of  
2 this type of infection.

3 Q. Tell me specifically what it was  
4 that she either did or didn't do that was a  
5 deviation from the standard of care?

6 A. I mean, I think to very briefly  
7 summarize it, I think that the patient should  
8 have been treated with a longer course of  
9 therapy and I think surgical intervention,  
10 either debridement of the wound or even removal  
11 of the prosthesis was really indicated.

12 Q. What responsibilities did Doctor  
13 Matejczyk have for antibiotic orders?

14 A. I guess it goes to the idea of  
15 really who's captain of the ship, for lack of a  
16 better term, I really think that the orthopedic  
17 attending really is directly responsible. The  
18 consultant is just that, a consultant.

19 However, it does, I think abrogate their  
20 responsibility.

21 So, I think that they work together, I  
22 think they both have responsibility, the  
23 infectious disease attending to recommend  
24 surgery, but clearly the orthopedic attending's  
25 decision to operate or not operate,

1 antibiotics, the infectious disease consultant  
2 will recommend to the attending, though, who  
3 the patient has a different relationship with,  
4 may have other information not available to the  
5 consultant which is not infrequent, I think,  
6 who is really in a good position to start  
7 deciding whether they agree or not agree.

8           So ultimately it is the attending, but  
9 the ID group clearly has a responsibility for  
10 the treatment of that patient, so that if the  
11 ID attending feels that surgery is necessary, I  
12 think they should state that and discuss that  
13 with the orthopedic attending.

14           Q.     Well, I want to limit your answer  
15 now just to antibiotics.

16           A.     Okay.

17           Q.     What responsibility does the  
18 orthopedic surgeon have over what antibiotics  
19 and the duration of antibiotics for a  
20 particular case?

21           A.     Again, the orthopedic attending is  
22 the captain of the ship. They can agree or not  
23 agree. So really it is their responsibility.

24                   However, clearly if they request an  
25 infectious disease consultant's opinion, I

1 would assume that they'd, you know, go along  
2 with that opinion.

3 Q. And like you in your infectious  
4 disease practice, you take responsibility for  
5 the choice and duration of antibiotic therapy?

6 A. Yeah, I do.

7 Q. Okay. When I asked you before  
8 what it was that Doctor Matejczyk did or failed  
9 to do, I'd like you to explain that a little  
10 better. You indicated something about  
11 antibiotics and surgery?

12 A. Right.

13 Q. Is there anything else, first of  
14 all?

15 A. Well, let's go back. I think the  
16 failure, in my opinion, is recognized in the  
17 fact that the patient had a deep knee infection  
18 and not a superficial wound infection. I think  
19 that's the crux of the situation here, as best  
20 I could tell.

21 Q. So, the deviation from standard of  
22 care is the failure to recognize a deep knee  
23 infection?

24 A. With subsequent appropriate  
25 treatment of that deep knee infection.



7           1           Q.       Is there anything else that Doctor  
2           Matejczyk did that was a deviation from  
3           standard of care?

4           A.       Well, I mean I have problems with  
5           some of the other care, I know, and I alluded  
6           to this in my letter that I believe the wound  
7           was sutured and I have some difficulty in  
8           suturing closed an infected wound.

9           Subsequently, when, I guess, she  
10          re-operated on the patient, she did an excision  
11          of the sinus tract, I think fairly early, again  
12          to recognize that this is related to the deep  
13          knee infection is also a deviation.

14          Q.       So, all your opinions in this case  
15          that Doctor Matejczyk was negligent arise from  
16          the failure to recognize what you say was a  
17          deep knee infection?

18          A.       Correct.

19          Q.       And I understand from your letter,  
20          I just want to confirm this, that the care  
21          rendered to Mr. Gates before November of 1987  
22          was appropriate and within standard of care?

23          A.       As best I could tell from an  
24          infectious disease standpoint, yes.

25          Q.       You're not going to come to trial

and render an opinion that anything before November of '87 was a deviation from standard of care?

4           A.       No, I would not, no.

5           Q.       All right. And you're not going  
6 to come to trial and testify that there is  
7 anything that occurred after January 3rd of  
8 1988 that was a deviation from standard of  
9 care, correct?

10          A.       That's correct.

11          Q.       So, the negligence in this case  
12 took place between November 13th, 1987, and  
13 January 3rd, 1988?

14          A.       Correct.

15          Q.       What is the basis of your  
16 conclusion that -- well, strike that.

17               Let me ask a different question.

18          Q.       When did Mr. Cates have a deep knee  
19 infection?

20          A.       I believe that when the patient  
21 presented to the hospital on, I guess, it was  
22 November 13th, 1987, at that point I believe he  
23 had a deep knee infection.

24          Q.       And what is the basis of your  
25 opinion that Mr. Cates had a deep knee

1 infection on November 13th, 1987?

2           A.       The description of the wound from  
3 the chart, the fact that what we seem to have  
4 had was this draining Staphylococcal aureus  
5 from a knee that was operated on several years  
6 prior to that, so to have a de novo infection  
7 on a wound area in a prosthetic knee would make  
8 one suspicious that it would not be  
9 superficial. The description in the chart of  
10 the infection, the tract, the pus drainage, the  
11 swelling, the redness, also would make me  
12 concerned that, indeed, that it was not a  
13 superficial wound infection, the high  
14 sedimentation weight was also strongly against  
15 that being a superficial wound. A patient on  
16 steroids who is a rheumatoid arthritic with a  
17 history of rheumatoid arthritis would also make  
18 one very concerned that Staphylococcal  
19 infection on this draining out would be a deep  
20 wound infection or a deep knee infection  
21 because those are the types of patients who are  
22 predisposed to those types of infections.

23           Q.       So, you're suggesting that Mr.  
24 Cates' presentation on November 13th of 1987  
25 should have alerted physicians to a suspicion

1 of a deep knee infection, correct?

2 A. It certainly alerted an infectious  
3 disease fellow who wrote the first note about  
4 this being a septic knee or prosthetic knee  
5 infection and I think he did alert them to that  
6 concern.

7 Q. But you have a copy of the records  
8 there?

9 A. There is a copy sitting next to  
10 me, yes.

11 Q. Would you tell me what it was  
12 about his presentation on November 13th, '87,  
13 from the records that lead you to the  
14 conclusion that he had a deep knee infection?

15 I want to know all the records you're  
16 relying on in the chart for that conclusion.

17 A. Well, I'm looking at the records  
18 now of Cuyahoga County Hospital, admission  
19 11/13, and we can take any of these admitting  
20 notes, there's an orthopedic admitting note  
21 describing the right knee, the swelling, the  
22 effusion, would make me greatly concerned, the  
23 progress notes from -- let's see, this is  
24 infectious diseases on the 14th, describing the  
25 assessment probability prosthetic right knee,

1 description of the knee, bilateral knee  
2 effusion, right greater than the left. Well, I  
3 see no erythema, warmth noted, but that is  
4 contradicted later on, I believe, in the  
5 records, with the comment that there was  
6 decreasing erythema and swelling, so I mean,  
7 you know, that's just looking at it quickly.

8 Q. Well, is there anything else in  
9 that chart?

10 A. The description of the knee,  
11 again, on admission has a draining, purulent  
12 liquid when patient flexes or extends his knee  
13 over the patella. I mean it's hard to  
14 attribute that, in my opinion, to a superficial  
15 furuncle, that just doesn't sound quite like  
16 it, we can get to the sedimentation rate being  
17 75, also, it does not support it. The cultures  
18 showing methicillin resistant Staphylococcal  
19 aureus.

20 Q. Cultures from where?

21 A. Cultures from the drainage site.  
22 I'm not as concerned, personally, about the  
23 nasal cultures, I don't think that really is  
24 particularly relevant to this particular case,  
25 in my opinion.

5 A. I think that what I'm really  
6 getting at is that the clinical description of  
7 the records and obviously I did not see the  
8 patient, I'm basically basing it simply on the  
9 clinical description, the presentation of the  
10 history of the patient, the way this started,  
11 the clinical description by multiple observers  
12 during that hospitalization, we're not going to  
13 ignore subsequently what happened, you're  
14 asking me about basically the opinion on that  
15 patient when he got admitted, why do I feel  
16 that this was deep? The sedimentation rate,  
17 the positive culture from a wound that had been  
18 operated on years before, the description of  
19 the nurses in here, which I can't find,  
20 offhand, describing some of the problems, the  
21 pain they were having, all leads me to feel  
22 that the patient had a deep knee infection.

25 The sedimentation rate; what date, what

1 was the level and tell me why you think that  
2 supports your conclusion.

3 A. Okay. Well let's just, I guess,  
4 let's back off a second. What is sedimentation  
5 rate? Sedimentation rate is really an  
6 erythrocyte sedimentation rate and that is a  
7 nonspecific indicator of infection or  
8 inflammation. One would see an elevation of  
9 the sedimentation rate in cases of infections  
10 many times of infections in particular septic  
11 arthritis, osteomyelitis, those type of  
12 infections are associated with that.

13 In many cases with an elevated  
14 sedimentation rate, it could be normal and if  
15 the sedimentation rate's normal, it doesn't  
16 help one in a differential diagnosis. If the  
17 sedimentation rate is elevated, one would be  
18 concerned that there, indeed, is a significant  
19 infection.

20 If you look at this patient's  
21 sedimentation rate, it's from 11/13, his  
22 sedimentation was 75. The normal values that  
23 the laboratory requires are zero to twenty  
24 millimeters per hour.

25 This patient's sedimentation was 75

1 millimeters per hour, which is markedly  
2 elevated and clearly not normal.

3 Q. What happened to the sedimentation  
4 rate through his hospitalization?

5 A. Well, not alot. By the time, as  
6 of November 20th his sedimentation was 77, so  
7 if anything, it really was not significantly  
8 changed, up a little bit, but not with any  
9 significance, and I believe that's the last one  
10 that I was able to find.

11 This chart was November 20th, so that  
12 would also make me very concerned that just the  
13 antibiotic therapy alone really was not working  
14 as well as it should have and, again, a red  
15 flag that, indeed, it might be a deep knee  
16 wound infection.

17 Q. Possibility that there was a  
18 deeper wound infection by an elevated  
19 sedimentation rate?

20 A. I think a probability. As I said,  
21 a significant red flag.

22 Q. Probability of some infectious  
23 process?

24 A. Well, a deep significant  
25 infection. What I'm really trying to explain



1 to you is that most superficial infections do  
2 not usually lead one to a sedimentation rate as  
3 high as was found in this patient.

4 Q. All right. Can superficial wound  
5 infections lead to elevated sedimentation  
6 rates?

7 A. Not to this agree, it's highly  
8 unusual.

9 Q. My question is, can superficial  
10 wound infections lead to elevated sedimentation  
11 rates?

12 A. They can be moderately elevated.

13 Q. What is it about the clinical  
14 description again that leads you to the  
15 conclusion he had a deep wound infection?

16 A. What was described as a  
17 significant swelling in the right knee, the  
18 purulent drainage from what sounds like some  
19 sinus or fistulous tract in the wound area, the  
20 redness that was described and the fact that  
21 Staph aureus was isolated from that tract I  
22 think is significant. There is a body of  
23 literature over the years that has described  
24 that if you have a fistulous or sinus tract  
25 with Staph aureus with it, one must be

1 concerned that this relates to a deeper area of  
2 infection.

3 Q. What literature?

4 A. There was an article published in  
5 one of the medical journals many years and I  
6 don't remember which journal it was, I think it  
7 was J.A.M.A, the Journal of American Medical  
8 Association, that's really my memory of it,  
9 just the significant culture in sinus tracts  
10 and noting that unless it's Staph aureus, one  
11 cannot attribute an ideologic organism in a  
12 sinus tract or fistulous tract with anything  
13 but Staph aureus.

14 So, I think the Staph aureus was  
15 significant in this case.

16 Q. Now, how do you know that Mr.  
17 Cates had a sinus tract or fistulous?

18 A. There was a description in here, I  
19 don't know who made the description, so I have  
20 not seen this chart in awhile, but there was a  
21 description of a purulent drain in tract or  
22 sinus. I forget the exact terminology that was  
23 used here.

24 Q. Can you find that note?

25 MR. ALLISON: I can find that.

1           A.       There's a note here 11/14.

2                   MR. ALLISON:   Excuse me, Doctor.

3           A.       I'm looking.   I have no idea who  
4 wrote it, 11/14/87, 5:05 p.m., there's a note  
5 here from somebody, might have been, I don't  
6 know who wrote this note, and it says here  
7 "S" -- I don't know if you want me to read it  
8 exactly or just interpret it.   Small amount,  
9 I'm interpreting this note, small amount of  
10 zero sanguineous, small hole present with  
11 redness around area.

12           Q.       Is that the note in this chart  
13 from 11/13 to 12/2 that leads you to the  
14 conclusion he had a fistula or sinus tract?

15           A.       That's one of them, I could  
16 probably spend more time here and see if there  
17 were any others.   I mean then they refer to  
18 open draining wound.   I guess it's a matter of  
19 terminology, I mean whatever you want to call  
20 that, to me that's a tract, whether it's a  
21 draining wound, that's a tract.

22           Q.       Well, what is a tract?

23           A.       A tract is a hole, tunneling hole.

24           Q.       And a tunneling hole, not just an  
25 opening?

1           A.       Well, it has to be of some depth.  
2       I don't know what the -- I don't know if  
3       there's a textbook definition of how one  
4       defines tract. To me there's a small hole with  
5       drainage that, by definition, in my opinion is  
6       a tract.

7           Q.       Not necessarily a tract that led  
8       into the joint itself, though, correct?

9           A.       Not based on that, correct.

10          Q.       Okay. As an infectious disease  
11       specialist what would you do to ascertain  
12       whether or not this knee, the knee joint,  
13       itself, was infected?

14          A.       I would: do, first of all, what  
15       they did, which was try to tap the knee, that  
16       is put a needle into the knee joint and try to  
17       obtain fluid for appropriate culture and Gram's  
18       stain and cell count which was done in this  
19       case, as well as the glucose and protein which,  
20       I believe, they did in this case.

21                If that was non-diagnostic and my index  
22       suspicion was still high, which it would have  
23       been in this case, I would have done one of  
24       several things; I probably would have repeated  
25       the tap because, I mean, after clinical

1 anecdotal experience you can either miss the  
2 fluid collection, because frequently they  
3 loculate it, there maybe small abscesses, they  
4 may miss the fluid or just miss the area for  
5 whatever technical reason and repeated taps, I  
6 think, were indicated and I think other  
7 ideologic intervention or radiologic studies  
8 should have been done, but which I don't  
9 believe in this case were done, nor could I  
10 find evidence if they were done for a gallium  
11 scan to see if the knee is hot.

12 Generally, with a superficial wound, if  
13 there's an area that's increased uptake on a  
14 gallium scan, it would be a very small area  
15 with a septic arthritis, the knee would be hot,  
16 the entire area is what we call hot, it's the  
17 areas of increased uptake of the gallium and,  
18 to my knowledge, I find no evidence that any of  
19 those radiologic studies were attempted to  
20 ascertain whether there was a deep infection or  
21 not.

22 Q. Is there any evidence in these  
23 records that there was a technical problem with  
24 the aspirate?

25 A. No, I don't think that was noted

1 that there was a technical problem. I would  
2 not be concerned because I probably have  
3 anecdotal experience with that occurring where  
4 you have to do the second or third tap, so, no,  
5 there's no evidence that it was missed, that  
6 there was a problem. I'm not suggesting that  
7 we're aware that there were, but we know that  
8 that may happen, we just don't hit the right  
9 area, for whatever reason that occurs.

10 Q. But you don't know that that  
11 happened in this case, do you?

12 A. I cannot say that happened, no.

13 Q. Now, what about the results of the  
14 studies of the aspirate supports your  
15 conclusion that there was a deep knee  
16 infection?

17 A. Well, the aspirate obtained does  
18 not support a deep infection.

19 Q. Do you, as an infectious disease  
20 doctor order aspirates of joints?

21 A. I have done aspirates of joints  
22 myself, I've done aspirates. I've been with  
23 the orthopedic surgeons as they've aspirated, I  
24 have made orthopedic surgeons come down and do  
25 it with me at the bedside; even as early as

1 yesterday I did the same thing with an  
2 orthopedic surgeon, so that's what I will do,  
3 yes.

4 Q. Do you recommend or order  
5 aspirates?

6 A. I'm not sure: by order, we don't  
7 write order on the chart to aspirate, but I  
8 recommend to the orthopedist to either do it or  
9 let me do it.

10 Q. Is that something that you do in  
11 the course of your practice as an infectious  
12 disease consultant?

13 A. Which? What?

14 Q. Suggest aspirates?

15 A. Oh, absolutely.

16 Q. Now, did Doctor Matejczyk bring an  
17 infectious disease consultant timely in this  
18 case?

19 A. Absolutely.

20 Q. And is it reasonable for an  
21 orthopedic surgeon to consult with an  
22 infectious disease specialist about a breakdown  
23 of an arthroplasty scar?

24 A. I believe so.

25 Q. And you would expect that in a --

1       that that would be good care by an orthopedic  
2       surgeon?

3               A.       Yes, I do.

4               Q.       How are infections diagnosed?

5               A.       Well, I think one diagnoses  
6       infection by the clinical manifestation.

7               Q.       Such as what?

8               A.       Such as purulent drainage, pus  
9       draining from a wound, the classic redness,  
10      tenderness, swelling, the signs of inflammation  
11      which maybe due to infection or not.

12              So, first you have an index of suspicion  
13      there is an infection, there is a clinical  
14      presentation, you obtain appropriate cultures  
15      including Gram's stains and cultures and that's  
16      how one diagnoses it and then you usually begin  
17      some antibiotic therapy and see what the  
18      clinical course is.

19              Q.       And, of course, when you do a  
20      culture, you're looking for a positive culture?

21              A.       Well, it's helpful if you see a  
22      positive culture, if you see bacteria or  
23      organisms on a Gram's stain, it's certainly  
24      helpful. If you get a positive culture on a  
25      fluid obtained in an appropriate setting,



1 that's positive, it's also very helpful.  
2 Obviously, not all cultures -- not all positive  
3 cultures signify infection. You could have a  
4 positive culture from something that's  
5 colonized that is not causing infection, but  
6 it's literally on the skin.

7 Q. And that would not be an  
8 infection?

9 A. That's right. One has to -- I  
10 think one of the roles of any physician  
11 particularly in an infectious disease is  
12 something we do on a daily basis to help  
13 differentiate whether it's an infection or it's  
14 not and what's colonizing and what's causing an  
15 inflammation, whether or not it's an infection;  
16 that is something we do on a daily basis.

17 Q. Would it be appropriate to treat  
18 something that's colonizing with antibiotics?

19 A. Generally not.

20 Q. You would want to correlate a  
21 positive culture with clinical symptoms,  
22 correct?

23 A. For therapeutic therapy, I think  
24 there are times we treat colonizing of people  
25 going for prophylation. As far as treating an

1 established infection, I think it's crucial to  
2 differentiate between colonizing a particular  
3 organism and what is causing that infection, if  
4 it is different in a deeper sense.

5 Q. So, to make a diagnosis of  
6 infection which would require antibiotics, you  
7 would combine culture results plus clinical  
8 presentation?

9 A. Correct, but not in that order.  
10 Probably the other order because --

11 Q. But either order --

12 A. Because you make empiric  
13 decisions, that is you would see a patient on  
14 day one, like in this case, they felt it was  
15 staph, either they had a culture or positive  
16 Gram's, I don't remember which, off the tap.  
17 They began nafcillin and then they had to  
18 switch to vancomycin, V-A-N-C-O-M-Y-C-I-N,  
19 because it turned out to be methicillin  
20 resistant. Again, empiric decisions were made  
21 appropriately as far as antibiotics go.

22 Q. So, vancomycin was the appropriate  
23 antibiotic for Mr. Cates in November and  
24 December 1987?

25 A. Once the organism was identified

1 as methicillin resistant Staph aureus, correct.

2 Q. Is it reasonable for an orthopedic  
3 surgeon to rely upon recommendations made by  
4 infectious disease specialists?

5 A. I believe so.

6 Q. What is methicillin resistant  
7 Staph aureus?

8 A. It's an organism, Staphylococcal  
9 aureus, which the sensitivity of the organism  
10 is such that it is resistant to methicillin  
11 which is one of the standard  
12 anti-Staphylococcal penicillins. Generically,  
13 we use the term methicillin, but it could be  
14 either nafcillin or oxacillin, one of the  
15 related, more recent drugs.

16 If you ask about mechanisms of  
17 resistance, you want to know how resistancy  
18 develops, where the resistance is.

19 Q. What I do want to ask you what are  
20 the complications and conditions of MRSA?

21 A. Well, I'm not certain that there's  
22 necessarily more complications with MRSA, with  
23 methicillin resistant Staph aureus. We  
24 certainly know that it's more difficult to  
25 treat; that is you must use a drug like

1 vancomycin with all it's inherent toxicities,  
2 problems of dosing, and the fact that Staph  
3 aureus is a little more difficult to treat with  
4 vanco in terms of clinical response, maybe a  
5 slower response, maybe a little more difficult.  
6 I don't believe that an organism has ever been  
7 shown to be more virulent than MRSA. Staph  
8 aureus is just a bad bug to have. It causes  
9 pus, it forms abscesses, it goes into areas you  
10 don't want to get into, particularly prosthetic  
11 areas, where it's hips, joints, knees, whatever  
12 it maybe, heart valves, it has a tendency to or  
13 a propensity to go though those areas and it's  
14 difficult to irradiate.

15 It frequently has to be usually drained  
16 because it forms these localized loculated  
17 abscesses, so it's a tough organism to treat.

18 Q. Well, when you have a patient like  
19 Mr. Cates with MRSA, does he basically carry  
20 the staff bug all the time?

21 A. Some do and some don't.

22 Q. How would you determine whether  
23 this was a such a patient that did carry the  
24 bug all the time?

25 A. Well, I mean I know in this case

1 they did a nasal culture and grew out a Staph  
2 aureus from a nasal culture or I think leading  
3 them to -- that he's a chronic carrier or Staph  
4 aureus carrier, I certainly would want to  
5 consider that it may, indeed, be true.

6 Of course, you can argue which came  
7 first. Did he have Staph aureus in his thigh  
8 and then it subsequently colonizes in his  
9 nares.

10 I don't think there's anyway anyone can  
11 tell. I'm not sure it's relevant in this case,  
12 but he certainly did have Staph aureus from  
13 multiple body sites,

14 Q. And did you review any information  
15 about Mr. Cates' medical history prior to  
16 November of '87?

17 A. Only to the extent that he had  
18 longstanding rheumatoid arthritis and had been  
19 on chronic steroids. That's all that I recall.

20 Q. Now, how does a physician cure  
21 MRSA?

22 A. Well, it certainly depends on the  
23 area of infection, that's why I'm hesitating  
24 when you use the word cure. Sometimes  
25 infections are very difficult to cure. You

1     treat it when we start that way using an  
2     appropriate -- again, assuming that there's  
3     infection, with MRSA not colonizing. If we're  
4     working under that assumption, you would use an  
5     appropriate antibiotic, generally vancomycin is  
6     employed either singly or with other  
7     antibiotics depending on the extent of  
8     infections, trying to obtain synergy,  
9     frequently, which is the combination of  
10    antibiotics is greater than the individual  
11    infection, so you use appropriate treatment,  
12    but it's something that's not serious, you may  
13    tend to use some of the oral agents, some of  
14    the newer oral agents, but in any case you use  
15    an appropriate antibiotic and then you decide  
16    whether you need any drainage or any surgical  
17    procedure, because Staph, as I mentioned  
18    earlier causes abscesses and you have to be  
19    concerned about that.

20           Q.     Now does Staph aureus register on  
21    the virulent scale, for lack of a medical term,

22           You said it was not as virulent as MRSA.

23           A.     No, I didn't say that.

24           Q.     Okay.

25           A.     I didn't say it was more or less.

1 I said I don't think there's any data that it's  
2 more virulent, that's not the same as saying  
3 it's less virulent. I don't know if there's  
4 any difference in virulence between them, at  
5 least that I'm aware of from studies we know  
6 that it's an epidemic problem in many hospitals  
7 causing serious infection because they're so  
8 difficult to treat, because of the need for  
9 vancomycin which might not be as effective as  
10 methicillin or nafcillin. If you want me to  
11 continue, I'll be glad to. I don't know if  
12 it's relevant to what you're asking.

13 Q. Well --

14 A. You asked me a scale. A scale of  
15 what?

16 Q. Let me ask you maybe a better  
17 question; is Staph aureus a virulent organism?

18 A. Yes, it is.

19 Q. And what is virulence?

20 A. How do I define virulence?

21 Q. Sure.

22 A. An organism that is extremely  
23 pathogenic, invasive and can cause significant  
24 morbidity and mortality.

25 Q. Now, when we talk about the two

1 steps to diagnose infection being culture and  
2 clinical presentation, who is in the best  
3 position to judge the patient's clinical  
4 status?

5 A. Who are you referring to? What?  
6 What do you mean who is in the best position?  
7 Who are you referring to? Doctors? I'm not  
8 sure.

9 Q. Doctor? Nurse? Medical  
10 professional?

11 A. I believe a physician is in a  
12 better position.

13 Q. Would they be in the best position  
14 to judge someone's clinical presentation in  
15 terms of assessing the clinical signs of  
16 infection?

17 A. Again, are you comparing to nurses  
18 or other allied personnel.

19 Q. Well, I'm trying to find out from  
20 you who you feel would be the best individual  
21 to determine -- to make the clinical  
22 determination of whether a patient has the  
23 clinical presentation of infection?

24 A. I mean, you know, if you're  
25 talking about an orthopedist or ID, is that



1 what we're getting down to the bottom here?

2 Q. If you need to characterize it  
3 that way or can we just say MD or person who is  
4 experienced in looking for this.

5 A. I think a physician, whether it's  
6 MD or ID, I think a physician is better trained  
7 or has more experience, which is not to say  
8 that nurses do not have experience because many  
9 nurses have the experience, other allied  
10 personnel, I mean patients, themselves, know  
11 there's an infection.

12 Q. Would you agree, let me ask you  
13 this way, would you agree that a physician  
14 without regard to specialty, who has experience  
15 and training in the recognition of the clinical  
16 signs of infection is in the best position to  
17 determine whether any particular patient is  
18 exhibiting the clinical signs of infection?

19 A. Yeah, I agree with that comment.

20 Q. All right. Were there any other  
21 antibiotics used to treat MRSA in late '87  
22 besides vancomycin?

23 A. There's much more even in 1991.  
24 The only other drug that was used, I mean there  
25 are other drugs that were used in 1987, either

1 in combination, as I referred to a little while  
2 ago, vancomycin or a drug like bactrim and  
3 amino-glycosides such as jenamicin, tobramycin,  
4 if you need to spell any of these words, please  
5 say so, rifampin, other agents that are used in  
6 combination, but clearly the treatment of  
7 choice then as it is now is vancomycin oral  
8 agents, that were other oral agents that were  
9 being developed around that time, the so-called  
10 quinolones, ciprofloxacin being one and if I'm  
11 not mistaken, I think the patient was  
12 subsequently put on ciprofloxacin later on in  
13 the hospitalization, but clearly vancomycin is  
14 the treatment and drug of choice.

15 Q. What was Mr. Cates' condition  
16 before he developed the problems with his right  
17 knee in November of 1987?

18 A. As far as the activities of  
19 rheumatoid arthritis to his knee?

20 Q. In general.

21 A. I don't know, I can't comment.

22 Q. Because you have reviewed no such  
23 records that would give you that information?

24 A. I reviewed the records of  
25 Doctor --

1 Q. Matejczyk?

2 A. I'm sorry, September 22nd, 1981,  
3 according to my notes and I have absolutely no  
4 recall of those records because I don't have  
5 them with me, I'd be glad to look at them if  
6 you'd like.

7 MR. MELLINO: They're in there.

8 A. They're in here?

9 Q. Well, that's '81, correct?

10 A. Right, yeah, I think that referred  
11 to it, you said that before.

12 Q. Before November of '87?

13 A. Right.

14 Q. When he developed the problems?

15 A. Oh, I'm sorry there would seem to  
16 be no problems at least related to infections.

17 Q. Were there any changes in his  
18 condition after he was discharged from the  
19 hospital in March of '88 from what they were  
20 like before he developed the problems with his  
21 right knee?

22 A. Can you repeat that question?

23 Q. I'll rephrase it for you, maybe  
24 I'll do it better the second time.

25 Were there any changes in Mr. Cates'

1 condition in March of 1988 when he left  
2 Highland View Hospital from the time before he  
3 entered the hospital in November of 1987?

4 A. The only records, again,  
5 apparently that I recall was a Doctor Ballou of  
6 March 8th, 1988, through July of 1988 and again  
7 I don't have any recall, so I don't think I can  
8 comment on that question. I can't give you an  
9 answer.

10 Q. Well, do you have an opinion that  
11 Mr. Cates has any long term **or** permanent  
12 sequelae from the infection that he experienced  
13 in January of 1988?

14 A. Well, I'm sure his knees where not  
15 in the best shape and I'm surprised that he did  
16 not have or maybe he did have those prosthesis  
17 eventually removed. I mean I can't imagine he  
18 wouldn't have significant impairment of  
19 mobility, so I would think you would eventually  
20 have to have those knees replaced, so I think  
21 that would have clearly been a problem as far  
22 as his mobility and his subsequent disability.

23 Q. But you don't know how mobile or  
24 disabled he was prior to 1987, do you?

25 A. No, I do not, no.

1           Q.       Well, just to make it easy,  
2       Doctor, you talked about what your criticism of  
3       Doctor Matejczyk was. What is your criticism  
4       of the infectious disease service at Cleveland  
5       Metropolitan General Hospital?

6           A.       I believe that the infectious  
7       disease service should have recommended, which  
8       I see no documentation, that further or more  
9       prolonged antibiotics would be indicated and  
10      that debridement or removal of the prosthesis  
11      in this case is indicated during that  
12      hospitalization of November 13.

13          Q.       Is that all the criticisms that  
14      you have of the infectious disease personnel at  
15      Cleveland Metropolitan General Hospital  
16      regarding their care and treatment of Mr.  
17      Cates?

18          A.       Well, I have to say and I'm almost  
19      afraid to step into it because I've read these  
20      depositions, the question is what happened on  
21      that operative, that note post-operatively,  
22      concerning where it was a statement that if  
23      wound okay, do not need antibiotics, that was  
24      written and discussed, I mean, you know  
25      everyone denies that conversation in the

1 depositions that I've read. If, indeed, that  
2 is true, that she did speak to an ID person who  
3 stated that, I think that was a deviation.

4 Q. What was a deviation?

5 A. That if, in fact, the infectious  
6 disease individual, whether as a fellow or an  
7 attending, without seeing the wound, simply  
8 said no, nothing. There was Staph aureus. If,  
9 indeed, that was transmitted, so that there was  
10 a Staph aureus still in a surgical specimen  
11 that was obtained, if they said that's okay, no  
12 more antibiotics, that's a deviation.

13 Q. So, it's a deviation even if they  
14 said, if the wound looks fine based upon your  
15 clinical judgment of Doctor Matejczyk at the  
16 time you examined this man's skin on December  
17 30th of 1987, if the wound looks fine, I don't  
18 believe you need to continue antibiotics.

19 You're saying that's a deviation?

20 A. Without seeing the patient?

21 Q. Yes, without ID actually seeing  
22 the patient.

23 A. And knowing there was -- that  
24 there was Staph aureus in the knee and knowing  
25 what the previous hospitalization course was,

1 so we're making a number of assumptions here  
2 for which I have no idea whether it's true or  
3 not true, if those assumptions are correct, I  
4 think that was a error.

5 MR. SEIBEL: I just want to state  
6 for the record that I don't think either denied  
7 receiving that telephone call or communication  
8 from Doctor Matejczyk. I think that's a  
9 mischaracterization of their testimony.

10 THE WITNESS: Well, I believe they  
11 said they had no recall.

12 MR. MELLINO: He's just objecting.

13 THE WITNESS: I'm not saying  
14 they're lying.

15 MR. MELLINO: The depositions will  
16 speak for themselves.

17 THE WITNESS: Okay.

18 Q. Okay, so let me make sure I got  
19 this correct then: if ID, someone from the  
20 infectious disease department made that  
21 comment, you don't need to give him further  
22 antibiotics if the wound looks fine, it was a  
23 deviation from the standard of care if they  
24 said that without seeing the patient, if they  
25 knew that there was a positive Staph aureus

1 culture and if they knew what his previous  
2 history during the hospitalization of November  
3 13th to December 2nd was.

4 Is that correct?

5 A. Correct.

6 Q. All right. Anything else, Doctor?  
Criticisms of the infectious disease services?

8 A. No.

9 Q. Cleveland Metropolitan General  
10 Hospital? Any other criticisms of anyone,  
11 whether it's Doctor Matejczyk or the infectious  
12 disease physicians at the Cleveland  
13 Metropolitan General Hospital or anyone else  
14 regarding the care and treatment of Mr. Cates  
15 based upon your review of the medical records  
16 in your deposition?

17 MR. MELLINO: That he hasn't gone  
18 into already?

19 MR. ALLISON: Well, I think we've  
20 only talked so far, Chris, about Doctor  
21 Matejczyk and so far about his two criticisms  
22 of ID.

23 Have you got any other criticisms  
24 of anyone?

25 A. No, sir.



1           Q.       With regard to the care and  
2 treatment of Mr. Cates?

3           A.       No.

4           Q.       And in your report you  
5 specifically stated, didn't you, Doctor, that  
6 the hospitalization and subsequent medical  
7 problems that developed after January 3rd,  
8 1988, were handled in an exemplary fashion.

9           Isn't that correct?

10          A.       That is correct.

11          Q.       And again not to beat a dead  
12 horse, on behalf of the Cleveland Metropolitan  
13 General Hospital, your criticisms are all  
14 premised on your conclusion that Mr. Cates had  
15 an infection within his knee joint during that  
16 admission from November 13th to December 2nd,  
17 1987

18               Is that correct?

19          A.       That's correct.

20          Q.       So, if he didn't have an infection  
21 within that knee joint, then all of the  
22 criticisms that you have go by the wayside.

23               Isn't that correct?

24               If he did not have an infection, you do  
25 not have any criticisms?

1           A.       I'd would still criticize the ID  
2 person on that conversation of December 30th  
3 for their response. I still think that would  
4 be a deviation.

5           Other than that, correct.

6           Q.       Okay. So, you would still  
7 criticize the infectious disease person that  
8 would have been involved in any conversation  
9 with Doctor Matejczyk regarding the December  
10 30th, if wound fine, no antibiotics needed?

11          A.       Absolutely.

12          Q.       Even if he did not have a deep --

13          A.       That's the point.

14          Q.       -- infection within the knee  
15 joint?

16          A.       Obviously he must have had it, I  
17 mean that's my criticism. Clearly, there was  
18 something not right here, there was something  
19 amiss. I used the term red flag before, that  
20 he should have said, "Wait a minute, what is  
21 going on here," which that did not appear to  
22 have occurred in this case.

23          Q.       So, it's your opinion that Mr.  
24 Cates had an infection within the knee joint on  
25 December 30th of 1987?

A. Oh, sure.

Q. When did that occur?

A. Well, he came in with it on  
4 November 13th.

Q. And it continued throughout the  
5 course of time from November 13th at least up  
6 through December the 30th?  
7

A. Even if there was clinical  
8 response during the 14 days of antibiotics,  
9 which according to those records there was  
10 clinical improvement and then stopped, and then  
11 comes back for the surgery and there's still  
12 Staph there, whether that's a recurrence of the  
13 infection or that the infection was only  
14 temporarily suppressed and recurred, yes.  
15

Q. I'm sorry. You got me confused.  
16

Because Mr. Cates had a positive culture  
17 from the surface of the knee wound on September  
18 the -- I'm sorry, on December the 22nd of 1987,  
19 you're saying that he had an infection within  
20 his knee?  
21

A. Let's just make sure that we're  
22 saying the same thing.  
23

The patient was reoperated on, an  
24 excision was done and that excision grew Staph  
25

1 aureus.

2 Now, I don't remember if the date was  
3 the 22nd.

4 Q. I believe it was December the  
5 22nd.

6 A. So, I take exception to your  
7 comments. I believe you used the word  
8 superficial skin, I forget the term you just  
9 used to describe that, that was an excisional  
10 wound that grew Staph aureus, the tissue, the  
11 excision, the wound grew Staph aureus.

12 Q. Is that right, Doctor?

13 A. That's my understanding.

14 Q. Would you like to find that basis  
15 for that conclusion in the record, please?

16 A. Does anybody know where the record  
17 is of the 22nd, the operation, that won't waste  
18 anyone's time.

19 MR. SEIBEL: The pathology report,  
20 you mean?

21 A. The operative report of the 22nd.  
22 The path report and the culture. I don't want  
23 to waste anyone's time because I don't think  
24 these records go to that date. Where would  
25 that be?

1 MR. SEIBEL: I have the path  
2 report. Here you go, Doctor.

3 Operative report, next page over is the  
4 path report, next page over is the culture.

5 A. All right. That's just what I'm  
6 saying, tissue was sent down for culture, Staph  
7 aureus was grown out of the culture, I'm not  
8 sure.

9 Q. Maybe I'd better look at this with  
10 you, Doctor, I think it says an incision was  
11 made around the one centimeter open wound after  
12 cultures were taken, did I read that correctly?  
13 Did I read that correctly, Doctor?

14 A. That's what it says.

15 Q. I did read that correctly, didn't  
16 I?

17 A. That's correct.

18 Q. I thought I did, okay. It doesn't  
19 change?

20 A. It doesn't change my opinion.

21 Q. Okay. What was it that was  
22 cultured on the 22nd?

23 A. It says "wound exudate."

24 Q. And that was submitted by way of a  
25 swab?

1 A. Correct.

2 Q. Okay. And the pathology report  
3 that was generated as a result of sending that  
4 excised lesion to pathology didn't indicate any  
5 evidence of infection either, did it?

6 A. Well, I don't know, it says here  
7 "Synovium with chronic inflammation, fibrosis,  
8 rheumatoid nodule formation, a mild  
9 perivascular mononuclear infiltrate. No active  
10 acute vasculitis was seen." I don't see  
11 stains, I don't see Gram's stain, or HE done,  
12 but again --

13 Q. Is there anything in there that  
14 indicates evidence of an infection?

15 A. Inflammation, evidence of  
16 inflammation.

17 Q. That's not the same thing  
18 necessarily as infection?

19 A. No, there's -- I mean they didn't  
20 do the stains, like in Gram's stains of that  
21 material, but I don't want to bicker with you  
22 about that.

23 Q. I believe at one point in time you  
24 mentioned that the clinical condition of Mr.  
25 Cates' knee would have caused you some concern

1 as an infectious disease specialist.

2 When he came in on November the 13th of  
3 1987 and that notwithstanding the sitology  
4 report of the fluid that was aspirated from the  
5 knee, you would have still had significant  
6 concerns.

7 Is that correct?

8 A. That's correct.

9 Q. Is that right?

10 A. That's right.

11 Q. So, even though the culture of the  
12 joint aspirate was negative --

13 A. That one aspirate, correct.

14 Q. And even though the -- do you  
15 recall what the sitology was on the joint  
16 aspirate, Doctor?

17 A. Not off the top of my head.

18 Q. If I told you that it was either  
19 216 or 261?

20 A. The white blood cell, it was about  
21 216.

22 Q. Is that evidence of infection,  
23 doctor?

24 A. No.

25 Q. No, it's not, is it?

8           1           A.       Not for a septic joint and that is  
          2       not.

          3           Q.       And if the polymorphonuclear  
          4       leukocytes were only six percent, is that  
          5       evidence of infection?

          6           A.       Not in that aspirate, that and my  
          7       point earlier that I would have been more  
          8       concerned and that I would have either retapped  
          9       the knee again, either then or several days  
         10       later and I would have obtained radiologic  
         11       studies, for instance, a gallium scan which I  
         12       mentioned, I think the burden of proof is on  
         13       the physician that there is no deep seeded  
         14       infection, which means that all diagnostic  
         15       modalities must be undertaken to decide whether  
         16       he is infected or not, it's crucial.

         17           Q.       So, even though the culture and  
         18       the sitology didn't indicate there was any  
         19       infection within the joint, you still would  
         20       have been suspicious?

         21           A.       On that tap. I mean on that  
         22       aspirate of what they call a knee tap, again  
         23       I'll assume they were in the knee joint and I  
         24       have no reason to assume they didn't do it  
         25       technically correct, I would have to assume;



1 I would have a high index of suspicion for the  
2 reasons I mentioned earlier; the sedimentation  
3 rate, the swelling, the redness, I would have  
4 been extremely concerned about it in this host  
5 setting of a steroid rheumatoid arthritic.

6 Q. Okay. Were there -- would there  
7 have been any risks, Doctor Levine, in doing  
8 another aspiration, entering the man's joint  
9 again with a needle?

10 A. Well, depends on how they do it.  
11 I mean you don't put a needle right through an  
12 infected wound. I wouldn't have gone through  
13 the wound. Obviously, you would have gone in  
14 from a different location.

15 Q. But other than that there would  
16 have been no risks associated with retapping  
17 this particular patient's knee during that  
18 hospitalization?

19 A. Any time you do any procedure,  
20 there are risks, but one must ask themselves  
21 the question of benefit versus risk and in this  
22 case I think the benefit, the potential  
23 benefit, would have greatly outweighed any  
24 small risk and there's always a risk.

25 Again, I don't want to get into an

1 argument with you, clearly everytime you tap a  
2 knee there is a small risk, but I think the  
benefit, the therapeutic benefit would have  
greatly outweighed any small risk.

5 Q. But I think you mentioned several  
6 radiographic procedures although you only  
7 discussed one and what was that one?

8 A. I think it was diagnostic,  
9 particularly 1987 would have been the gallium  
10 scan.

11 Q. Were there any others?

12 A. No. Well, there was -- there  
13 would have been a bone scan which I probably  
14 would have done though, it might have been  
15 difficult to determine in this setting of a  
16 knee, but I think the knee was four years old,  
17 I believe, so I said I mean I probably would  
18 have done a bone scan, a gallium scan and at  
19 least discussed it with the radiologist.

20 Q. Plain film radiographs wouldn't  
21 have been of any benefit in this situation?

22 A. As in most, a positive test is  
23 useful, a negative test may not be useful,  
24 so --

25 Q. Not just that, but the fact that

1 you may have chosen to continue to be concerned  
2 on the basis of the information that they had.

3 You're saying that was not the standard  
4 of care in infectious diseases whether it  
5 required these other things?

6 A. Yeah, I am saying that exactly,  
7 that's right.

8 Q. And so, Doctor, you're saying that  
9 even though these cultures and sitology and  
10 everything from this joint came back negative,  
11 that even in light of those results it wasn't  
12 medically reasonable to assume there was no  
13 infection within that joint?

14 A. In this setting, for instance, if  
15 a gallium scan was done, it was more data that  
16 there was no evidence, then I think we would  
17 not be sitting here discussing it.

18 Q. So, was it medically reasonable  
19 for them to presume on the basis of what they  
20 had done that there was an infection or not?

21 A. Well, what they had done was, I  
22 think, below the standard of care, so I mean,  
23 how can that answer that question?

24 Q. You have no questions with what  
25 they did.

1           Is that correct?

2           A.       Right.   What they didn't do.

3           Q.       It was appropriate to do the  
4           aspiration?

5           A.       Correct.

6           Q.       It was appropriate to do the  
7           culture of the aspiration?

8           A.       Correct.

9           Q.       It was appropriate to do the  
10          Gram's stain of the aspiration?

11          A.       Correct.

12          Q.       It was appropriate to do the  
13          sitology on the aspirate?

14          A.       Correct.

15          Q.       All right.   It was appropriate to  
16          do the other tests that they did?

17          A.       Which are what?

18          Q.       What was that?   They did a glucose  
19          on the --

20          A.       You're talking about the fluid  
21          analysis.

22          Q.       Fluid analysis, right.   That was  
23          appropriate?

24          A.       Yeah, I don't remember what the  
25          fluid analysis showed, I think we should look

19  
1 at that for a second. The fluid analysis, what  
2 was the glucose, was on that fluid analysis. I  
3 don't know if you have that available readily  
4 or if you want me to look through the chart for  
5 it.

6 Q. Go ahead.

7 A. You see the glucose was 14 and the  
8 protein was 3110, I mean I think one has to ask  
9 themselves the question of why the heck -- why  
10 was the glucose 14 milligrams per deciliter,  
11 that's extremely low.

12 Now, you know, I mean I think one would  
13 have to ask that question and try to come up  
14 with an answer.

15 Q. Doctor, does the disease condition  
16 from which this man had been suffering, does it  
17 have any affect on the fluid of his joint, his  
18 rheumatoid arthritis?

19 A. Yes, it does.

20 Q. Can it change the toxicity of the  
21 synovial fluid?

22 A. Yes, it can.

23 Q. So it can affect the  
24 characteristics of the joint, the rheumatoid  
25 arthritis?

1 A. That's right.

2 Q. As you earlier talked before it  
3 was appropriate to start the man on nafcillin  
4 pending the culture results on the superficial  
5 wound?

6 A. Yeah, I believe so.

7 Q. And then later changed that to  
8 vancomycin because that was what was indicated  
9 as a result of the culture on the superficial  
10 wound, right?

11 A. That's correct.

12 Q. Have you ever treated a patient  
13 with a superficial wound over a joint  
14 containing a prosthesis?

15 A. Yes, I have.

16 Q. Did all of them involve a joint?

17 A. Not all of them, but we agonize  
18 over every one of them and did all the  
19 procedures I have referred to above in order to  
20 try to differentiate and there are times when  
21 we treat for a prolonged period of time,  
22 because we would not be so certain about it.

23 Q. Were there times when you didn't?

24 A. When we were convinced that, as I  
25 am, yeah, to answer there are times we didn't

1 treat it for long periods, correct.

2 Q. And would you agree with me,  
3 Doctor, that if the infection that Mr. Cates  
4 had in a superficial wound over his knee was  
5 just that and did not involve the structures  
6 within the knee joint, itself, that the two  
7 weeks of vancomycin therapy was appropriate?

8 A. If that was the case, it would be  
9 appropriate.

10 Q. In your report, you talked about  
11 the fact that Mr. Cates, based upon your  
12 conclusion that he had an infection within the  
13 joint, should have been on out-patient  
14 antibiotics.

15 What out-patient antibiotics should he  
16 have been on after his discharge from the  
17 hospital?

18 A. Where are you referring to that?  
19 I suggested that in this case?

20 Q. The patient was then discharged  
21 without any further antibiotic therapy?

22 A. Right.

23 Q. Does that mean that you believe he  
24 should have continued on antibiotics after this  
25 discharge from the hospital?

1           A.       Well, no. What I'm saying is that  
2 the patient should have gotten **more** than two  
3 weeks of IV therapy. I mentioned that later he  
4 should have gotten four to six weeks **of** IV  
5 antibiotics with appropriate debridement,  
6 removal of the prosthesis had been indicated  
7 and then placed on oral therapy, but you're  
8 sort of adding insult to injury, you've just  
9 given 14 days on top of that, it's not quite  
10 the same thing as saying that would have been  
11 okay to give two weeks and then give oral.

12           Q.       Well, then my question to you is  
13 what should he have been given orally after his  
14 discharge **from** the hospital on December the  
15 2nd?

16           A.       What I'm saying is that he should  
17 not have been discharged on December the 2nd.

18           Q.       He should have actually continued  
19 to remain hospitalized for another month?

20           A.       He should have stayed on IV  
21 antibiotics for another month, whether they do  
22 it in-patient or out-patient or whatever he  
23 should have gotten, we do home infusion  
24 therapy, he clearly should have gotten at least  
25 several weeks more of IV antibiotics with the



1 appropriate debridement.

2 Q. But you mentioned oral  
3 antibiotics.

4 What oral antibiotics did you have in  
5 mind?

6 A. In this case it would have been  
7 probably ciprofloxacin.

8 Q. Okay. Doctor, would you agree  
9 with me that your decision as an infectious  
10 disease specialist of whether to treat a  
11 patient is a matter of your professional  
12 judgment.

13 MR. MELLINO: Objection.

14 A. As compared to whose? I'm not  
15 sure what you're comparing to.

16 Q. It's a matter of your professional  
17 judgment.

18 MR. MELLINO: Objection.

Q. Based upon what you know about the  
patient and the laboratory results and that  
type of thing?

A. I think it's based on one's  
training, one's judgment and what certain  
standards are.

Q. Okay. That would go for not only

20 1 whether to treat the patient but also how to  
2 treat him, what to treat him with and how long  
3 to treat him.

4 Isn't that correct?

5 A. That's correct.

6 Q. And I believe you've talked  
7 earlier that there are certain circumstances  
8 where you don't necessarily treat just because  
9 there's a positive culture, for instance  
10 there's a colonization, I think you said,  
11 versus an infection.

12 Is that right? Is that correct?

13 A. That's right.

14 Q. Doctor, would you agree with me  
15 that the person who is in the best position to  
16 decide on a course of treatment from an  
17 infectious disease standpoint, because I  
18 believe you said that the clinical picture as  
19 well as culture results were important, would  
20 be the person that's actually looking at the  
21 wound?

22 MR. MELLINO: Objection.

23 A. Well, I think there are certain  
24 standards that we all live by and I guess  
25 that's how lawsuits are started by certain

1 standards of care, because certainly the person  
2 there is in a better position. Certainly, if  
3 they make a mistake, which is what I think  
4 happened here then, no.

5 Q. Doctor, is it fair to say that  
6 between yourself and someone that you might  
7 talk to, another infectious disease person on  
8 the phone about a case, that the person who  
9 would be in the best position to assess the  
10 patient's clinical condition would be you as  
11 opposed to the person that's just getting the  
12 information secondhand, if you will?

13 A. You know it depends on how  
14 accurate the information is that I would  
15 provide to that person. If I was speaking to  
16 another colleague getting their opinion and I  
17 provide an accurate history, physical,  
18 laboratory assessment, then I would expect that  
19 person also to have as worthwhile or as  
20 positive an opinion or otherwise, I wouldn't be  
21 speaking to that person for that judgment.

22 Q. So, you're saying then that  
23 secondhand information is just as good as  
24 actually looking at the patient?

25 A. Oh, no, no, it's just not as good.

1 If the observer can relate the information in  
2 an accurate, precise fashion to another person  
3 who is not observing that, then I think that's  
4 acceptable. If the observer is not  
5 transferring that information, it's not as  
6 useful, but clearly and obviously the person  
7 looking at the infection is in best position  
8 and --

9 Q. That's fine.

10 A. Sure.

11 Q. It's my understanding you don't  
12 have any problem with the fact that a suture  
13 was placed in this wound during Mr. Cates'  
14 hospitalization.

15 Is that correct?

16 A. Well, I mean normally we don't  
17 like to put sutures in infected wounds. At the  
18 time that the suture was put in, the wound,  
19 itself, was clean. I guess it's a judgment  
20 call I would leave to the discretion of the  
21 surgeon, but I would be nervous about it, about  
22 seeing it.

23 Q. But that is not any deviation of  
24 standard of care based on your professional  
25 judgment?

1           A.       I don't think it's a deviation.

2           Q.       Okay. Doctor, I know that you  
3 talked with Mr. Seibel about this.

4                   Is it my understanding that the  
5 difficulty in treating methicillin resistant  
6 Staph aureus is that it's really not any harder  
7 to treat, it's just a more limited number of  
8 medications that can be used to treat it.

9                   Is that right?

10          A.       Yes and no, and the reason I say  
11 no is that vancomycin, Staph aureus, the  
12 therapeutic response, for instance, to  
13 methicillin resistant Staph aureus for  
14 methicillin is greater than the vancomycin.

15                  So, we can treat Staph aureus with  
16 vanco, it's a little more difficult because the  
17 spectrum is narrower, the toxicities are  
18 somewhat greater than the methicillin, but in  
19 general are the same.

20          Q.       Ultimately, there are not many  
21 things to treat MRSA with.

22                  Is that correct?

23          A.       It's the toxicities inherent in  
24 that particular treatment.

25          Q.       Now, as regards the procedure that

1 was performed on December 22nd of 1987 when  
2 that area of that one centimeter wound was  
3 debrided and excised and resutured, you don't  
4 have any criticisms of anyone for carrying out  
5 that procedure on that day, do you?

6 A. Well, I think -- I mean the  
7 question that has to be asked was why was that  
8 necessary to do? Why would there seem to be  
9 persistent drainage from that, you know, so you  
10 can excise it, I have no problem excising the  
11 wound. My problem is I think the entire thing  
12 should have been excised. I think it should  
13 have been extended down into the knee. So, I  
14 don't have trouble with surgery, I think that's  
15 a limited surgery and I don't understand why.  
16 I would hope that the person treating the  
17 patient would say why is this necessary? What  
18 is the problem here? Why is there a persistent  
19 drainage and Staph aureus.

20 Q. Now, Doctor, as regards that  
21 procedure on December 22nd of 1987 when they  
22 did that excision, if the infectious disease  
23 service wasn't notified of the fact that it was  
24 going to be performed or that it had been  
25 performed and you wouldn't have any criticisms

1 of infectious diseases because of the factor  
2 that it was performed.

3 Isn't that correct?

4 A. That's correct.

5 Q. Okay. Or the fact that Mr. Cates  
6 wasn't placed on antibiotics on December the  
7 22nd, they had no knowledge of the fact that it  
8 was performed?

9 A. That's right.

10 Q. And you've just talked, Doctor,  
11 about the factor that you were -- you believe  
12 someone should have been concerned about why  
13 there was a one centimeter lesion on Mr. Cates'  
14 knee on December 22nd that needed to be  
15 resutured?

16 A. A draining lesion with Staph  
17 aureus in it, yes.

18 Q. Doctor, isn't it true that when  
19 you have sutured incisions over joints that  
20 they're subject to mechanical forces which at  
21 times cause them not to heal as well as you  
22 would ordinarily expect them to.

23 A. Sure, de novo that would be the  
24 differential diagnosis.

25 Q. And isn't it true, Doctor, that

1 Mr. Cates' longstanding condition of rheumatoid  
2 arthritis also had an affect on his ability to  
3 heal any wound?

4 A. Correct.

5 Q. And it made him tend not to heal  
6 as well?

7 A. Correct, but we have a saying in  
8 infectious diseases, when you hear hoof beats  
9 don't think zebras. I mean I think that's what  
10 we're talking about here. You're right, you're  
11 right, but still something else is wrong.

12 MR. SEIBEL: I thought zebras had  
13 hooves.

14 MR. ALLISON: They do.

15 THE WITNESS: **H**oof beats, okay.

16 MR. SEIBEL: You don't know this,  
17 he's a veterinarian.

18 THE WITNESS: Is he really?

19 Q. Have you ever had occasion to try  
20 and treat a wound on a patient which involved a  
21 rheumatoid nodule?

22 A. I'm sorry, a patient's rheumatoid  
23 nodule's treating?

24 Q. Yes. And like an area of  
25 ulcerated rheumatoid nodule?



1           A.       We've treated ulcerated wound  
2       nodules, yes.

3           Q.       And aren't they more difficult to  
4       heal? Aren't they more difficult to heal  
5       because by definition a rheumatoid nodule is  
6       not normal skin.

7           Isn't that correct?

8           A.       That's correct.

9           Q.       Speaking of rheumatoid arthritis,  
10      you're not a rheumatologist, are you?

11          A.       No, I'm not.

12          Q.       And as far as any opinions  
13      specifically with reference to Mr. Cates'  
14      rheumatoid arthritis condition and the affect  
15      on his overall physical condition, it's fair to  
16      say you would defer to a rheumatologist in  
17      those areas.

18          Isn't that correct?

19          A.       Except in the instances where we  
20      know rheumatoid arthritics have a higher  
21      tendency for infections in the joint,  
22      particularly Staph aureus.

23                 Other than that, that is an infectious  
24      complication, I would defer any other cases to  
25      rheumatologists.

1           Q.     And I think you discussed this  
2 with Mr. Seibel, certain medications like  
3 prednisone can also have an adverse affect  
4 on --

5           A.     Prednisone, in this case, would  
6 have.

7           Q.     And you are aware, Doctor, that on  
8 the reports that we've discussed regarding the  
9 case of Mr. Cates' knee on December 30th, 1987,  
10 that the notes indicated that the wound was  
11 excellent.

12                 Isn't that correct? You're aware of  
13 that note?

14           A.     Well, I think the comment was  
15 fine, F-I-N-E, was the way it said it in the  
16 chart, wound fine, you have wound fine, per ID  
17 of wound fine.

18                 Wound excellent? I didn't see that,  
19 okay.

20           Q.     So, apparently at least according  
21 to Doctor Matejczyk's note here and what she  
22 talked about in her deposition the condition of  
23 that surgical site on Mr. Cates' right knee on  
24 December 30th, 1987, the wound was excellent.

25                         MR. MELLINO: Objection.

1 MR. ALLISON: It's according to  
2 the report.

3 MR. MELLINO: Correct, assuming  
4 that it was checked.

MR. ALLISON: Pardon me?

MR. MELLINO: Assuming that it was  
7 checked on that day.

8 MR. ALLISON: Whatever, Chris.

9 Q. Doctor, have you ever recommended  
10 in your practice now which has been going on  
11 what since 1982 that a patient on antibiotics  
12 be discontinued or not be discontinued without  
13 actually looking at a wound like this? Have  
14 you ever done that?

15 MR. MELLINO: Objection, when  
16 you're talking with one of your residents or  
17 fellows or another attending physician, a  
18 surgeon or whatever?

19 A. Any patient that I'm involved  
20 with, that I'm taking care of, no. I've never  
21 done that, without seeing the patient myself,  
22 never.

23 O. Not one time?

24 A. Not one time.

25 Q. And you believe that that's not

1 just something that you do, but that's a  
2 standard of care?

3 A. I would hope so, I think so.

4 Q. Is it or isn't it, Doctor?

5 A. You know, I'd be hardpressed for  
6 me to say that's a standard because I don't  
7 know if everyone does that, that is what I do  
8 and I think that's appropriate, I don't know if  
9 the standard of care is that you must see every  
10 wound before you stop if, it another physician  
11 tells you.

12 Q. Doctor, have we discussed now,  
13 today, between the questions that Mr. Seibel  
14 had and the questions that I've had for you all  
15 of your opinions in this case?

16 A. To the best of my knowledge, yes.

17 Q. And the basis of all of your  
18 opinions?

19 A. Yes.

20 Q. Can you think of any other  
21 opinions that you have about the care and  
22 treatment of Travis Cates that's the subject of  
23 this lawsuit that we haven't discussed?

24 A. I don't believe so.

25 Q. Doctor, if you develop any other

1 opinions about the care and treatment rendered  
2 to Travis Cates that's the subject of this  
3 lawsuit between now and trial, would you tell  
4 Mr. Mellino or Mr. Kampinski or someone from  
5 their office so that we can further inquire and  
6 explore those new opinions that you formed  
7 between now and the time of trial?

8 A. Sure.

9 Q. I mean if you develop any new  
10 opinions?

11 A. Sure.

12 MR. ALLISON: Okay. I have  
13 nothing further.

14

15 (Brief discussion held off the record.  
16 Back on the record.)

17 (Recess taken at approximately 3:30 and  
18 ended at approximately 3:35 p.m.)

19

20 REDIRECT EXAMINATION BY MR. SEIBEL:

21

22 Q. Can you have swelling and redness  
23 around a superficial infection?

24 A. Moderate degrees.

25 Q. Do you have an opinion as to the

1 source of the infection that Mr. Cates  
2 presented with on January 3rd, 1988?

3 A. When he presented back to the  
4 hospital with Staph aureus, septic arthritis  
5 and meningitis?

6 Q. Yes.

7 A. I think it was related to the  
8 original infection.

9 Q. Tell me why.

10 A. I'm sorry. When I say that, the  
11 infection that he presented to on November  
12 13th.

13 Q. And what is the basis of your  
14 connection between those two events?

15 A. The facts that both organisms  
16 were the same organisms which were methicillin  
17 resistant Staph aureus at both periods of time.

18 Q. How do you know that they're the  
19 same organisms?

20 A. We know that -- well, they were  
21 the same organism, they were both Staph aureus,  
22 you asked me. They were the same isolates, I  
23 mean that's what you're getting at, very  
24 sophisticated studies, which we need, which  
25 were not generally done. I think one sort of,

1 you know, when you see things happen in this  
2 way, to relate them together is totally within  
3 standard so we know there was Staph aureus  
4 draining, Staph aureus in the knees and the  
5 blood's spinal fluid at that time. I think not  
6 to relate them, it would be extremely difficult  
7 to do so. I am really pressing probabilities.

8 Q. Well, what evidence are you going  
9 to be able to point to the jury in this case  
10 that the infection, the Staph aureus infection  
11 he had in November of 1987 is the same Staph  
12 aureus that he had when he presented in January  
13 of '88?

14 A. I think we're dealing with both  
15 the methicillin resistant Staph aureus.

16 Q. Now, if he were to have  
17 methicillin resistant Staph aureus on other  
18 places in his body, how can you draw the  
19 conclusion between the connection that was, you  
20 know, a knee infection in November after his  
21 septic condition in January of '88?

22 A. Well, depends on what other areas  
23 of the body you're talking about and how one  
24 develops an invasive disease.

25 I think it's well-stated that when

1 someone has septic arthritis and pus in the  
2 knee, on steroids that there's a -- and then  
3 develops sepsis, that is bacterium, and again  
4 assuming that's the case, it is just  
5 unreasonable and unrealistic. So, I think you  
6 have Staph aureus in the knee which is what I  
7 obviously believe and he comes back with Staph  
8 aureus in the blood, a knee which we know is a  
9 focus for the potential dissemination, that  
10 that's the most likely source, statistically  
11 indicating that, and I think that it's a high  
12 likelihood or high probability.

13 Q. Is it possible to get Staph aureus  
14 in the blood and other places in the body from  
15 places other than joints?

16 A. Oh, you can get Staph aureus in  
17 the blood from many other sources.

18 Q. Such as what?

19 A. Intravenous lines, other invasive  
20 procedures, endocarditis, which is usually  
21 primary, secondary.

22 Q. What about open sores?

23 A. Open sores, I think it depends on  
24 the extent of the openness, that if one has a  
25 carbuncle or furuncle, a deep boil, and someone



1 on steroids I would be concerned also that that  
2 is a potential focus.

3 Q. I just want to clear up something  
4 when Mr. Allison was asking you some questions.

5 You don't read Doctor Blinkhorn's and  
6 Doctor Persod's depositions as denying that a  
7 conversation took place on December 30th with  
8 Doctor Matejczyk, do you?

9 A. No, no, no, I think they said they  
10 have no recall, either one of them.

11 Q. Now, if Mr. Cates did not, in  
12 fact, have an infected knee joint on November  
13 13th, 1987, and, thereafter, was it acceptable  
14 care to leave the prothesis in?

15 A. If you're asking me to assume  
16 that there was no deep knee infection?

17 Q. Right.

18 A. Certainly.

19 Q. Okay. And if there is no -- and  
20 again assuming that there was no deep knee  
21 infection during that November to December  
22 hospitalization, was it okay to discharge Mr.  
23 Cates from the hospital on December 2nd?

24 A. On no antibiotics?

25 Q. Correct.

1           A.       If the knee was **as** described which  
2       was clinically improving, if there was no **deep**  
3       infection, then I think it would be  
4       appropriate.

5           Q.       And if this gallim scan were to be  
6       negative for an infected knee joint, then your  
7       testimony is that there would be **no** claim here  
8       to pursue?

9           A.       If one makes all attempts to  
10      prove there **is** an infection **in** the knee and  
11      that all attempts, reasonable within standards  
12      of care, are negative and you understand what  
13      I'm saying, obviously, we wouldn't be here.

14           If, in fact, that were true, then I  
15      think we'd have no case.

16           Q.       Over two years ago when you sent  
17      your report to Mr. Kampinski, you suggested to  
18      him that he have an orthopedist review this  
19      case.

20           Do you know if that review ever took  
21      place?

22           A.       No, I don't know.

23           Q.       And were **you** -- I take it **you** were  
24      paid **by** Mr. Kampinski for your review in this  
25      case?

1           A.       Yeah, I think you asked me that  
2       in the very beginning.

3           Q.       All right. Were you paid before  
4       or after you wrote your report?

5           A.       I would assume my standards are to  
6       get paid after the report.

7           Q.       I'll do a quick flip through my  
8       notes and we might be done.

9           And I take it your opinion is that Mr.  
10       Cates should have received prolonged antibiotic  
11       therapy between the dates of November 13th and  
12       January 3rd?

13          A.       As a minimum, yes.

14          Q.       Yeah. When?

15          A.       I'm sorry, say that again,  
16       between --

17          Q.       November 13th and January 3rd?

18          A.       Well, I think he should have  
19       continued antibiotics. I mean, you know, I  
20       don't know, I'm not saying it should have been  
21       **stopped** January 3rd, but, yeah, he should have  
22       been continued on antibiotics after the date  
23       they stopped it, right.

24          Q.       With continued antibiotics, can  
25       you state to a reasonable certainty that he

1 would not have had the infection he presented  
2 with on January 3rd?

3 A. No, that's what I said as a  
4 minimum.

5 Q. And I'll ask the same question  
6 that Mr. Allison did, just so the record's  
7 clear.

8 Have you stated to me in the deposition  
9 today all the areas in which you feel that  
10 Doctor Matejczyk deviated from accepted  
11 standards of care?

12 A. Including when I say minimal,  
13 when I says minimal you understand surgical  
14 debridement or the removal with that, yes, I  
15 think I've stated all my opinions today in this  
16 case.

17 Q. Now, let me -- let me ask you one  
18 more question since you brought the topic **up**,  
19 do you have an opinion to a reasonable degree  
20 of medical probability that had Mr. Cates'  
21 prosthesis been removed sometime between  
22 November 3rd -- I'm sorry, between November  
23 13th and January 3rd and that he would have  
24 been on antibiotics during that time that he  
25 would not have had the infection he presented

1 with on January 3rd?

2 Yeah, I believe that.

3 MR. SEIBEL: I don't have anything  
4 further.

5

6 RECROSS EXAMINATION BY MR. ALLISON:

7

8 Doctor, I've got just a couple of  
9 quick questions.

10 You and Mr. Seibel just talked about how  
11 this infection could have developed in Mr.  
12 Cates' blood, the septicemia, and you talked  
13 about how you recognized an infection in a  
14 joint can spread to the blood stream.

15 Isn't that correct?

16 A That's correct.

17 Q Is it also equally recognized that  
18 infection in the blood stream can set itself up  
19 in a joint?

20 A

21 Q And especially if that joint  
22 happens to be compromised by an arthritic  
23 process, aren't they more susceptible to  
24 bloodborne or hematogenous infections?

25 A. Correct.

1 Q. So that if Mr. Cates had a  
2 bloodborne infection for any reason no matter  
3 what the original site was, and we'll assume it  
4 wasn't his knees, that those knees would have  
5 been more susceptible to, both of them, to an  
6 infection setting up there than if he had not  
7 had the degenerative changes due to his  
8 rheumatoid arthritis?

9 A. If we assume he had another focus  
10 of Staph, primary to secondary, and if we  
11 assume it was not his knee as the focus of  
12 infection, he has a higher risk of that knee  
13 becoming subsequently infected, correct.

14 Q. As well as the other which has  
15 also been affected?

16 A. Right.

17 Q. Which is what, in fact, happened  
18 in this case; both knees were affected?

19 A. Both knees were affected.

20 Q. In January of 1988?

21 A. The other knee became infected in  
22 January.

23 Q. Okay.

24 A. Right.

25 MR. ALLISON: That's all I have,

1 Doctor, thank you.

2  
3 (Brief discussion held off the record.  
4 Back on the record.

5  
6 MR. SEIBEL: Doctor, the lawyers  
7 have agreed, under Ohio procedure you would, as  
8 a witness, would have the right to review the  
9 testimony of your deposition today once it has  
10 been transcribed by the court reporter.

11 We are ordering the deposition to be  
12 transcribed and I suppose under -- we'll apply  
13 Ohio procedure and give you the opportunity to  
14 review your deposition testimony, if you so  
15 desire, to make corrections in the  
16 transcription, or like under Ohio procedure,  
17 you have the right to forego your signature.

18 MR. MELLINO: Read it.

19 THE WITNESS: All right.

20 MR. SEIBEL: Okay.

21  
22 (Proceeding concluded at approximately  
23 3:55 p.m.)  
24  
25

C O R R I G E N D U M

PAGE	LINE	CHANGE/REASON
------	------	---------------

This image shows a blank sheet of white paper with horizontal black ruling lines. The lines are evenly spaced and run across the width of the page. There are approximately 20 lines visible. The paper appears to be a standard notebook or ledger page.

JEROME F. LEVINE, M.D.

STATE OF NEW JERSEY           X  
COUNTY OF \_\_\_\_\_         X

SUBSCRIBED AND SWORN TO by the Said  
Witness, Jerome Levine, M.D. , on this  
the \_\_\_\_\_ day of \_\_\_\_\_, 1991.

Notary **Public** in and for  
the State of New Jersey

My commission expires: \_\_\_\_\_



C E R T I F I C A T E

I, THERESA L. TESSITORE, a Certified  
Shorthand Reporter and Notary Public of the  
State of New Jersey, do hereby certify the  
foregoing to be a true and accurate transcript  
of my stenographic notes in the above-entitled  
matter.

A handwritten signature in cursive script, reading "Theresa L. Tessitore C.S.R.", is written over a horizontal line.

THERESA L. TESSITORE, C.S.R.

CERTIFIED SHORTHAND REPORTER

CERTIFICATE NO. XI01210

Charles Kampinski, Esquire  
1530 Standard Building  
1370 Ontario Street  
Cleveland, Ohio 44113

April 4, 1989

re: Travis Cates, File Number 4-265

Dear Mr. Kampinski:

I have reviewed the following medical records provided to me in the case of Travis Cates: 1. Office the records of Dr. Matejczyk of September 22, 1981 to April 13, 1988; 2. Records from admission to the Cleveland Metropolitan General Hospital of November 13th through December 2, 1987; December 22, 1987; and January 3rd through January 28, 1988; 3. Records from admissions to Highland View Hospital of January 28th through February 11, 1988 and February 16th through March 13, 1988; 4. Office records of Dr. Ballou of March 8, 1988 through July, 1988; 5. Emergency department records in the Cleveland Metropolitan General Hospital of January 3, 1988. At your request, I would like to give you my thoughts on the medical care provided to this patient. I believe that the care provided to Mr. Cates prior to November 13, 1987 and after January 3, 1988 was appropriate and within the accepted standard of medical care. In particular, the hospitalization and subsequent medical problems that developed after January 3, 1988 were handled in an exemplary fashion.

However, I believe that the treatment of Mr. Cates during his hospitalization of November 13th through December 2, 1987 and subsequently December 27, 1987 through December 30, 1987 was not within the standard of medical care and that this negligence led to the complications requiring hospitalization of January 3, 1988 with the life threatening infection of staphylococcal sepsis, septic arthritis, and probable endocarditis. In particular, during the hospitalization of November 13, 1987 through December 2, 1987, the physicians caring for this patient felt that he had developed a septic prosthetic right knee infection due to methicillin resistant Staphylococcal aureus (MRSA). Although there may be some debate as to whether the patient had a bursitis versus a septic arthritis, it is probably not relevant in the type of treatment that the patient should have received. However, I will accept the impression of the physicians who cared for the patient as recorded in the medical records that he had a septic prosthetic knee. The patient received intravenous antibiotics for only 17 days, the prosthetic knee was not widely debrided nor was an attempt made to remove it. Instead, the wound site at which the drainage had occurred was apparently sutured on day number 14 of that hospitalization. Certainly, this is not the standard of care for the treatment of an infected prosthetic knee. More significantly, the organism obtained, methicillin resistant Staph aureus is a highly virulent organism which is difficult to treat due to its methicillin resistant characteristic. Although the patient did receive intravenous vancomycin to which the organism was susceptible, this antibiotic was given only for 14 days. The patient was then discharged without any further antibiotic therapy. A two week course of an appropriate intravenous antibiotics for this condition would not be expected to be curative in any sense and it was not surprising to me that the patient returned again on December 22, 1987 for an operative repair of a persistent wound drainage and had positive cultures again of the tissue for MRSA. Unfortunately, the physicians caring for the patient did not treat this patient with antibiotics at that time. There is a comment noted on the susceptibility sheets for the positive growth of methicillin resistant Staph aureus of December 30, 1987 that "ID" recommended no further


treatment if "wound fine". This is clearly inappropriate treatment which directly lead to the devastating infection that occurred as of January 30, 1988. If, the physicians caring for the patient felt that he did not have a deep wound infection, it would be difficult for them to explain how they interpreted the operative cultures. This would have to be explored further during deposition.

Although certain issues concerning the treatment of an infected prosthetic knee are still controversial, these controversies basically center on the type of surgical intervention and the number of months of appropriate antimicrobial therapy. However, I believe that the literature, which was available on November, 1987, clearly supports the need for aggressive wound debridement and/or prosthetic removal with long term antibiotic therapy. In fact, there is little issue as to the need for long term therapy, not just 2 weeks. In general, most infectious disease specialists recommend a six to eight week course of appropriate intravenous antibiotics followed by months to perhaps years of an appropriate oral antibiotic therapy. This case is complicated further by the nature of the organism isolated, methicillin-resistant Staphylococcal aureus which is known to be a difficult organism to treat. I believe that only a 14 day course of intravenous vancomycin was not appropriate therapy especially since the infectious disease physicians caring for the patient gave the impression that they believed that the patient had an infected prosthetic knee.

I would recommend that an orthopedist also review this case as I believe that the orthopedic procedure during that hospitalization of November 3, 1987 and again on December 22, 1987 was not appropriate and below the standard of care. However, as I am not an orthopedist myself, I would be interested to see what their point of view would be.

As further material is obtained during depositions, I would be happy to review this material with you and provide my opinion at that time. If you require additional information, please let me know.

Sincerely,

  
Jerome F. Levine, M.D., F.A.C.P.  
Attending  
Infectious Disease Section  
Clinical Assistant  
Professor of Medicine  
New Jersey Medical School  
UMDNJ

Diplomate of the American Board of  
Internal Medicine and  
Subspecialty of  
Infectious Disease

cc: Leslie Klausner, R.N., B.S.N.  
JFL/km

Charles Kampinski, Esquire  
1530 Standard Building  
1370 Ontario Street  
Cleveland, Ohio 44113

April 4, 1989

re: Travis Cates, File Number 4-265

Dear Mr. Kampinski:

I have reviewed the following medical records provided to me in the case of Travis Cates: 1. Office the records of Dr. Matejczyk of September 22, 1981 to April 13, 1988; 2. Records from admission to the Cleveland Metropolitan General Hospital of November 13th through December 2, 1987; December 22, 1987; and January 3rd through January 28, 1988; 3. Records from admissions to Highland View Hospital of January 28th through February 11, 1988 and February 16th through March 13, 1988; 4. Office records of Dr. Ballou of March 8, 1988 through July , 1988; 5. Emergency department records in the Cleveland Metropolitan General Hospital of January 3, 1988. At your request, I would like to give you my thoughts on the medical care provided to this patient. I believe that the care provided to Mr. Cates prior to November 13, 1987 and after January 3, 1988 was appropriate and within the accepted standard of medical care. In particular, the hospitalization and subsequent medical problems that developed after January 3, 1988 were handled in an exemplary fashion.

However, I believe that the treatment of Mr. Cates during his hospitalization of November 13th through December 2, 1987 and subsequently December 27, 1987 through December 30, 1987 was not within the standard of medical care and that this negligence led to the complications requiring hospitalization of January 3, 1988 with the life threatening infection of staphylococcal sepsis, septic arthritis, and probable endocarditis. In particular, during the hospitalization of November 13, 1987 through December 2, 1987, the physicians caring for this patient felt that he had developed a septic prosthetic right knee infection due to methicillin resistant Staphylococcal aureus (MRSA). Although there may be some debate as to whether the patient had a bursitis versus a septic arthritis, it is probably not relevant in the type of treatment that the patient should have received. However, I will accept the impression of the physicians who cared for the patient as recorded in the medical records that he had a septic prosthetic knee. The patient received intravenous antibiotics for only 17 days, the prosthetic knee was not widely debrided nor was an attempt made to remove it . Instead, the wound site at which the drainage had occurred was apparently sutured on day number 14 of that hospitalization. Certainly, this is not the standard of care for the treatment of an infected prosthetic knee. More significantly, the organism obtained, methicillin resistant Staph aureus is a highly virulent organism which is difficult to treat due to its methicillin resistant characteristic. Although the patient did receive intravenous vancomycin to which the organism was susceptible, this antibiotic was given only for 14 days. The patient was then discharged without any further antibiotic therapy. A two week course of an appropriate intravenous antibiotics for this condition would not be expected to be curative in any sense and it was not surprising to me that the patient returned again on December 22, 1987 for an operative repair of a persistent wound drainage and had positive cultures again of the tissue for MRSB. Unfortunately, the physicians caring for the patient did not treat this patient with antibiotics at that time. There is a comment noted on the susceptibility sheets for the positive growth of methicillin resistant Staph aureus of December 30, 1987 that "ID" recommended no further

treatment if "wound fine". This is clearly inappropriate treatment which directly lead to the devastating infection that occurred as of January 30, 1988. If, the physicians caring for the patient felt that he did not have a deep wound infection, it would be difficult for them to explain how they interpreted the operative cultures. This would have to be explored further during deposition.

Although certain issues concerning the treatment of an infected prosthetic knee are still controversial, these controversies basically center on the type of surgical intervention and the number of months of appropriate antimicrobial therapy. However, I believe that the literature, which was available on November, 1987, clearly supports the need for aggressive wound debridement and/or prosthetic removal with long term antibiotic therapy. In fact, there is little issue as to the need for long term therapy, not just 2 weeks. In general, most infectious disease specialists recommend a six to eight week course of appropriate intravenous antibiotics followed by months to perhaps years of an appropriate oral antibiotic therapy. This case is complicated further by the nature of the organism isolated, methicillin-resistant Staphylococcal aureus which is known to be a difficult organism to treat. I believe that only a 14 day course of intravenous vancomycin was not appropriate therapy especially since the infectious disease physicians caring for the patient gave the impression that they believed that the patient had an infected prosthetic knee.

I would recommend that an orthopedist also review this case as I believe that the orthopedic procedure during that hospitalization of November 3, 1987 and again on December 22, 1987 was not appropriate and below the standard of care. However, as I am not an orthopedist myself, I would be interested to see what their point of view would be.

As further material is obtained during depositions, I would be happy to review this material with you and provide my opinion at that time. If you require additional information, please let me know,

Sincerely,



Jerome F. Levine, M.D., F.A.C.P.  
Attending  
Infectious Disease Section  
Clinical Assistant  
Professor of Medicine  
New Jersey Medical School  
UMDNJ

Diplomate of the American Board of  
Internal Medicine and  
Subspecialty of  
Infectious Disease

cc: Leslie Klausner, R.N., B.S.N.  
JFL/km

~~Hachensack Me. at Center~~

Ex-1  
TBA-91

Charles Kampinski, Esquire  
1530 Standard Building  
1370 Ontario Street  
Cleveland, Ohio 44113

April 4, 1989

re: Travis Cates, File Number 4-265

Dear Mr. Kampinski:

I have reviewed the following medical records provided to me in the case of Travis Cates: 1. Office the records of Dr. Matejczyk of September 22, 1981 to April 13, 1988; 2. Records from admission to the Cleveland Metropolitan General Hospital of Sovember 13th through December 2, 1987; December 22, 1987; ana Januarv 3rd through January 28, 1988; 3. Records from admissions to Highland View Hospitai of January 28th through February 11, 1988 and February 16th through March 13, 1988; 4. Office records of Dr. Ballou of March 8, 1988 through July , 1988; 5. Emergency department records in the Cleveland Metropolitan General Hospital of January 3, 1988. At your request, I would like to give you my thoughts on the medical care provided to this patient. I believe that the care provided to Mr. Cates prior to November 13, 1987 and after January 3, 1988 was appropriate and within the accepted standard of medical care. In parricular, the hospitalization and subsequent medical problems that developed after January 3, 1988 were handled in an exemplary fashion.

However, I believe that the treatment of Mr. Cates during his hospitaliza-tion of November 13th through December 2, 1987 and subsequently December 27, 1987 through December 30, 1987 was not within the standard of medical care and that this negligence led to the complications requiring hospitalization of January 3, 1988 with the life threatening infection of staphylococcal sepsis, septic arthritis, ana probable endocarditis. In particular, during the hospitalization of Sovember 13, 1987 through December 2, 1987, the physicians caring for this patient felt that he had developed a septic prosthetic right knee infection due to methicillin resistant Staphylococcal aureus (MRSA). Although there may be some debate as to whether the patient had a bursitis versus a septic arthritis, it is probably not relevant in the type of treatment that the patient should have received. However, I will accept the impression of the physicians who cared for the patient as recorded in the medical records that he had a septic prosthetic knee. The patient received intravenous antibiotics for only 17 days, the prosthetic knee was not widely debrided nor was an attempt made to remove it . Instead, the wound site at which the drainage had occurred was apparently sutured on day number 14 of that hospitalization. Certainly, this is not the stanaard of care for the treatment of an infected prosthetic knee. More significantly, the organism obtained, methicillin resistant Staph aureus is a highly virulent organism which is difficult to treat due to its methicillin resistant characteristic. Although the patient did receive intrave-nous vancomycin to which the organism was susceptible, this antibiotic was given only for 14 days. The patient was then discharged without any further antibiot-ic therapy. A two week course of an appropriate intravenous antibiotics for this condition would not be expected to be curative in any Sense and it was not surprising to me that the patient returned again on December 22, 1987 for an operative repair of a persistent wound drainage and had positive cultures again of the tissue for MRSA. Unfortunately, the physicians caring for the patient aid not treat this patient with antibiotics at that time. There is a comment noted on the susceptibility sheets for the positive growth of methicillin re-sistant Staph aureus of December 30, 1987 that "ID" recommended no further

Page 2 - CATES

treatment if "wound fine". This is clearly inappropriate treatment which directly leads to the devastating infection that occurred as of January 30, 1988. If, the physicians caring for the patient felt that he did not have a deep wound infection, it would be difficult for them to explain how they interpreted the operative cultures. This would have to be explored further during deposition.

Although certain issues concerning the treatment of an infected prosthetic knee are still controversial, these controversies basically center on the type of surgical intervention and the number of months of appropriate antimicrobial therapy. However, I believe that the literature, which **was** available on November, 1987, clearly supports the need for aggressive wound debridement and/or prosthetic removal with long term antibiotic therapy. In fact, there is little issue as to the need for long term therapy, nor **just** 2 weeks. In general, most infectious disease specialists recommend a six to eight week course of appropriate intravenous antibiotics followed by months to perhaps years of an appropriate oral antibiotic therapy. This case is complicated further by the nature of the organism Staphylococcus aureus, which is known to be a difficult organism to treat. I believe that only a 14 day course of intravenous vancomycin was not appropriate therapy especially since the infectious disease physicians caring for the patient gave the impression that they believed that the patient had an infected prosthetic knee.

I would recommend that an orthopedist also review this case as I believe that the orthopedic procedure during that hospitalization of November 3, 1987 and again on December 22, 1987 was not appropriate and below the standard of care. However, as I am not an orthopedist myself, I would be interested to see what their point of view would be.

As further material is obtained during depositions, I would be happy to review this material with you and provide my opinion at that time. If you require additional information, please let me know.

Sincerely,

Jerome F. Levine, M.D., F.A.C.P.  
Attending  
Infectious Disease Section  
Clinical Assistant  
Professor of Medicine  
New Jersey Medical School  
UMDNJ

Diplomate of the American Board of  
Internal Medicine and  
Subspecialty of  
Infectious Disease

Ek-2  
Tid

CURRICULUM VITAE

NAME: Jerome F. Levine. M.D.

BORN: Sew York, New York: April 10, 1949

EDUCATION: Union College, Schenectady. Sew York  
B.S.E.E. summa cum laude. 1971

Sew York University School of Medicine.  
New York, New York M.D., 1976

POSTGRADUATE TRAINING:

Internship and Residency in Medicine  
Manhattan V.A. Hospital - N.Y.U. Medical  
Center, New York, New York - 1976-1979

Chief Medical Resident  
Manhattan V.A. Hospital  
New York, New York - 1979-1980

Fellowship in Infectious Disease  
Manhattan V.A. Hospital  
New York, New York - 1980-1982

APPOINTMENTS:

Teaching Assistant in Medicine  
Faculty, New York University Medical  
Center. New York, New York 1979-1982

Clinical Assistant Professor of Medicine  
University of Medicine & Dentistry of New Jersey  
New Jersey Medical School - 1983 - Present

Clinical Assistant  
Infectious Disease Section  
Hackensack Medical Center  
Hackensack, New Jersey - 1982 - 1983

Assistant Attending  
Infectious Disease Section  
Hackensack Medical Center  
Hackensack, New Jersey - 1983 - 1988

Attending  
Infectious Disease Section  
Hackensack Medical Center  
Hackensack, New Jersey - 1989 - present



Consultant, Infectious Diseases,  
Valley Hospital,  
Ridgewood, New Jersey - 1982 - present

Consultant, Infectious Diseases,  
Good Samaritan Hospital,  
Suffern, New York - 1983 - 1984

Fellowship Program Director,  
Infectious Disease Section.  
Hackensack Medical Center  
Hackensack, New Jersey - 1984 - Present

Assistant Program Director  
Department of Internal Medicine  
Hackensack Medical Center  
Hackensack, New Jersey 1986 - 1989

Associate Program Director  
Department of Internal Medicine  
Hackensack Medical Center  
Hackensack, New Jersey 1989 - present

Assistant Chief of Infectious Diseases  
Department of Internal Medicine  
Hackensack Medical Center  
Hackensack, New Jersey - 1990 - present

#### SPECIALTY BOARDS:

Diplomate of the National Board of Medical  
Examiners - 1977

Diplomate of the American Board of Internal  
Medicine - 1979

Diplomate of the Subspecialty of infectious  
Diseases - 1983

#### SOCIETIES:

Fellow of the American College of Physicians  
Member of the Infectious Diseases Society of America  
Association of Program Directors of Internal Medicine  
American Medical Association  
American Society for Microbiology  
Association for Practitioners in Infection  
Control

#### PROFESSIONAL COMMITTEES:

Member. Infection Control Committee - 1982 - Present  
Hackensack Medical Center

Chairman, Antibiotic Utilization Committee - 1983 - Present  
Hackensack Medical Center

Quality Assurance Committee - 1983 - 1984  
Hackensack Medical Center

Chairman, Area Wide Study on Antibiotics for  
Surgical Prophylaxis Association for  
Professional Health Care Review - 1983 - 1985

Chairman. Infectious Diseases Committee  
Bergen County Medical Society of the  
New Jersey Medical Society - 1983 - Present

#### Grants:

Fairleigh S. Dickinson Jr. Foundation: \$50,000.  
"Prospective Study of Pneumonia in the Elderly"

#### BIBLIOGRAPHY

##### JOURNAL ARTICLES:

1. Moss IR, Lisbon A, Levine JF, Wald A: The effects of increased intracranial pressure on respiratory function. Proc. 2nd Symposium on Intracranial Pressure. Berlin: Springer-Verlag, 315-316. 1975.
2. Maslow MJ, Levine JF, Pollock AA, Simberkoff MS, Rahal JJ, Jr.: Ceftriaxone treatment at twelve hourly intervals for serious bacterial infection. Antimicrob Agents Chemother 1982; 22:103-107.
3. Greene JB, Sidhu GS, Lewin S, Levine JF, et al: Mycobacterium avium - intracellulare: A cause of disseminated life-threatening infection in homosexuals and drug abusers. Ann Int Med 1982; 97:539-546.
4. Levine JF, Hiesinger EM, Whelan MA, Pollock AA, Simberkoff MS, Rahal JJ, Jr.: Pneumococcal meningitis associated with retroperitoneal abscess: A rare complication of lumbar puncture. JAMA 1982; 248:2308-2309.

3. Luft BJ, Conley F, Remington JS. Levine JF, et al: Outbreak of central-nervous system toxoplasmosis in Western Europe and North America. Lancet 1983: 781-783.
5. Levine JF, Hanna BA, Pollock AA, Simberkoff MS, Rahal, JJ. Jr.: Penicillin-sensitive nutritionally-variant streptococcal endocarditis: **relapse** after penicillin therapy. Am J Med Sci 1983; 286 (1):31-36.
7. Gross PA, Levine JL: Pneumonia - I. Roche Handbook of Differential Diagnosis. Hoffmann-La Roche, Inc. 1983.
8. Levine JF: Differentiating the pneumonias. Diagnosis 100-108, September, 1983.
9. Levine JF, Gross PA: Pneumonia - II. Roche Handbook of Differential Diagnosis. Hoffmann-La Roche, Inc. 1984.
10. Gross PA, Levine JF: Viral Hepatitis, Roche Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1984.
11. Levine JF, Gross PA: Sexually transmitted diseases. Roche Handbook of Differential Diagnosis. Hoffman-La Roche. Inc. 1984
12. Levine JF, Maslow MJ, Leibowitz RE. et al: Amikacin resistant gram-negative bacilli: correlation with amikacin utilization. J Infect Dis 1985; 151:295-300.
13. Gross PA, Levine JF: Infections in the immunocompromised host. Roche Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1985.
14. Williams RR, Gross PA, Levine JF: Cost containment of the second generation cephalosporins at a community teaching hospital by prospective monitoring. Arch Int Med 1985; 145:1978-1981.
15. Levine JF, Gross PA: Hospital acquired infections. Roche Handbook of Differential Diagnosis. Hoffmann-La Roche, Inc. 1985.
16. Gross PA, Levine JF: Fever of unknown origin. Roche Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1985.
17. Levine JF, Gross PA: Urinary tract infections. Roche Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1985.
18. Gross PA, Levine JF: Central nervous system infections. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1986.

19. Levine JF, Gross PA: Endocarditis. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1986
20. Reines E, Levine JF, Gross PA: Fever of Unknown Origin. Infections in Medicine. 1986; 3:325-326.
21. Gross PA, Levine JF: Infections in **the** elderly. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1987.
22. Levine JF, Gross PA: intra-abdominal infections. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1987.
23. Levine JF: Vancomycin: A Review, Med Clin N.A. 1987; 71:1135-1145.
24. Levine JF, Gross PA: Immunizations - Part I. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1987.
25. Levine JF, Gross PA: Immunizations - Part II. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1987.
26. Levine JF: Syphilis: Ancient and on the Rise. Emergency Medicine 1988; 20:62-73.
27. Levine JF, Gross PA: Empiriatrics. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1988.
28. Boscamp JR, Gross PA, Levine JF: Pediatric Bacterial Infections. Handbook of Differential Diagnosis. Hoffman-La Roche, Inc. 1989.
29. Levine JF: Delayed Diagnosis of Crepitant Cellulitis - Medical Lessons to be Learned. Medical Malpractice Prevention 1989; 9:7.
30. Levine JF, Saul Z: Infectious Disease Care and Comment: Complicated Influenza. Patient Care 1990:178-180
31. Stein M, Levine JF, Black W: Successful treatment of Aspergillus peritonitis in an adult on continuous ambulatory peritoneal dialysis. Nephron (in press).
32. Levine JF, Saul Z: Relapsing pyelonephritis. Patient Care 1990:165-166.
33. Levine JF, Saul Z: UTI with unremitting fever. Patient Care 1990:172-175.
34. Levine JF, Vaidya P: Unexplained fever after chest pain. Patient Care 1991:202-204.

## BOOKS

1. Gross. PA. Levine JF: Infections In The Elderly. In Wenzel (ed): Prevention and Control of Nosocomial Infections. Baltimore: Williams & Wilkins: 1986:541-559.
2. Levine JF: Syphilis: Ancient and On The Rise. In Atkins (ed): Issues in Critical Care. New York: Cahnerns Publishing Company: 1989:113-118.

## ABSTRACTS

1. Levine JF. Maslow MJ. Hanna BA. Leibowitz RE. Pollock AA. Simberkoff YS. Rahal JJ. Jr.: Amikacin-resistant gram-negative bacilli: a clinical, epidemiological and microbiological survey. Twenty-second Interscience Conference on Antimicrobial Agents and Chemotherapy, 1982. Abstract 531.

To Jerome Levine MD.

DEPOSITION FOR REVIEW:

PLEASE REVIEW THIS DEPOSITION AND MAKE ANY NECESSARY CORRECTIONS ON THE NEXT TO LAST PAGE OF THE TRANSCRIPT.

AFTER MAKING THE NECESSARY CORRECTIONS, PLEASE SIGN AND HAVE YOUR SIGNATURE NOTARIZED IN THE SPACE PROVIDED.

IF NO CORRECTIONS ARE NECESSARY, PLEASE SIGN IN THE SPACE PROVIDED ON THE NEXT TO LAST PAGE AND ALSO HAVE THAT SIGNATURE NOTARIZED.

YOU HAVE 20 DAYS WITHIN WHICH TO REVIEW AND NOTE THE NECESSARY CORRECTIONS.

PLEASE DO NOT WRITE ON THE TRANSCRIPT PAGES THEMSELVES, ONLY ON THE NEXT TO LAST PAGE OF THE TRANSCRIPT.

TRANSCRIPT DELIVERED ON \_\_\_\_\_

TRANSCRIPT TO BE PICKED UP ON \_\_\_\_\_

THANK YOU FOR YOUR TIME. IF YOU HAVE ANY QUESTIONS, PLEASE CALL THERESA TESSITORE, C.S.R. AT (201) 342-8714.