

COURT OF COMMON PLEAS
CUYAHOGA COUNTY

- - -

NANCY FARKAS,)	
)	
)	
Plaintiff,)	
)	
vs .)	Case No. 393101
)	Judge McCafferty
CLEVELAND CLINIC FOUNDATION)	
et al.,)	
)	
Defendants.)	

- - -

Transcript of videotaped deposition of NATHAN LEVITAN,
M.D., Expert Witness herein, called by the Plaintiff as upon
cross-examination, pursuant to Notice and Agreement of
Counsel, pursuant to the Ohio Rules of Civil Procedure,
before Denise C. Winter, a Registered Merit Reporter and
Notary Public within and for the State of Ohio on Tuesday,
June 20, 2000, at the offices of Reminger & Reminger, 113
St. Clair Building, 7th Floor, Cleveland, Ohio, commencing
at 7:00 p.m. and concluding at 9:40 p.m.

- - -

MERIT REPORTING SERVICES
2000 East Ninth Street, Suite 310
Cleveland, Ohio 44115
216-781-7120

APPEARANCES:

Debra J. Dixon, Esq.
700 West St. Clair Avenue, Suite 216
Cleveland, Ohio
(216) 621-9100

on behalf of the Plaintiff;

Reminger & Reminger
James M. Kelley, III
Thomas E. Conway
113 St. Clair Building, 7th Floor
Cleveland, Ohio
(216) 687-1311

on behalf of the Defendant,
Cleveland Clinic Foundation;

Mazanec, Raskin & Ryder
Colleen H. Petrello (by telephone)
100 Franklin's Row
34305 Solon Road
Solon, Ohio
(440) 248-7906

on behalf of the Defendant,
Allan F. Starr, M.D.

- - -

Also present: Randall Buckosh, Litigaide, Inc.
Joanne Sysack

- - -

I N D E X

Examination of Nathan Levitan, M.D. Page

BY MS. DIXON: 04

- - -

EXHIBITS

PAGE

Plaintiff's Exhibit 1	12
Plaintiff's Exhibit 2	15
Plaintiff's Exhibit 3	1.5

- - -

(See Signature Page)

PROCEEDINGS

NATHAN LEVITAN, M.D.

Expert Witness herein, called by the Plaintiff
as upon cross-examination, having been first duly
sworn, as hereinafter certified, was examined and
testified as follows:

CROSS-EXAMINATION OF NATHAN LEVITAN, M.D.

BY MS. DIXON:

Q. Good afternoon, Dr. Levitan. We met off the record.
My name is Debra Dixon. I'm one of the attorneys
representing the estate of Nancy Farkas. I'm here today to
ask you some questions regarding the materials that you
reviewed, the opinions that you rendered in conjunction with
the estate of Nancy Farkas versus the Cleveland Clinic
Foundation, et al.

Before we get started, let me ask you to state your
full name and spell your last name for the record?

A. Dr. Nathan Levitan, L-E-V-I-T-A-N.

Q. And, doctor, have you ever had your deposition taken
before?

A. Yes.

Q. And on approximately how many separate occasions?

A. I certainly don't keep records to give you an exact
figure. Any number I give is going to be a wild guess, but
I would guess perhaps eight times in the past, maybe ten.

1 I'm not certain.

Q. Just to refresh your recollection, all your answers
need to be oral. Please refrain from things like nods of
4 the head, hand gestures. If at any point in time you don't
5 understand a question that I have asked, please ask me to
6 rephras'e it or clarify it. If you answer the question, I'll
7 assume that your answer is truthful and accurate.

8 Finally, if at any point in time you get a phone call,
9 get a page or need a break of any sort, please let me know.
10 I'll be happy to accommodate you. Fair enough?

11 A. Yes.

12 Q. I have been provided an updated, what I understand to
13 be an updated copy of your curriculum vitae dated June 5,
14 2000 and, unfortunately, I didn't have a full opportunity to
15 review that document. The previous CV that I had been
16 provided was, I believe, October of 1998.

17 Are you able to articulate for me what the changes in
18 your curriculum vitae are from the October '98 to the
19 June 5, 2000?

20 A. I have had a number of additional publications since
21 that time. That's really the principal difference.

22 Q. And with the exception of those edits, if you will, or
23 additions, everything else contained on your prior CV is
24 accurate?

25 A. Correct.

1 Q. Doctor, currently how would you describe your area of
2 medical specialization and/or concentration?

3 A. I'm a medical oncologist, though I'm boarded in both
4 hematology and oncology as well as internal medicine. Most
5 of my practice pertains to the whole range of patients with
6 solid tumors, some lymphomas, mainly solid tumors.

7 Q. I also noted by way of your curriculum vitae there are
8 a number of activities that I would describe, and correct me
9 if I'm wrong or you would use a different term, as
10 administrative-type responsibilities within University
11 Hospital.

12 A. All of my administrative activities have solely to do
13 with patient care, which is to say that I spend perhaps 10
14 to 20 percent of my time at the most, some weeks less than
15 that, overseeing quality of care, patient care issues at the
16 cancer center. So all of my administrative activities are
17 directly patient-care orientated.

18 Q. And would those duties, that description of duties
19 relate to your responsibilities as the medical director of
20 the Ireland Cancer Center Ambulatory Unit?

21 A. Yes. My role is overseeing the type of patient care
22 that's delivered at our Ireland Cancer Center facilities.

23 Q. You also indicated by way of your curriculum vitae
24 you're an associate director of the Ireland Cancer Center
25 for clinical programs?

1 A. Right. These are a variety of titles that essentially
2 all describe the same job.

3 Q. So although they may have in the sub-branches
4 different titles, wholistically would you describe your
5 primary responsibilities as that of patient care but
6 associated with patient care there may be some collateral
7 administrative responsibilities?

8 A. Right. I spend most of my time being a doctor and
9 taking care of patients and related to that I take
10 responsibility for issues of quality of care within the
11 cancer center,

12 Q. And in terms of the patient population that you
13 personally participate in their care, would it be -- do you
14 deal exclusively with patients who have already had their
15 disease diagnosed?

16 A. No. The role of medical oncologist definitely
17 includes the process of working up an abnormal finding or a
18 suspected abnormal finding leading to a diagnosis and then
19 advising as to how that individual should be treated.

20 Q. And is there a particular type of patient or a patient
21 with a particular sequelae of symptoms that you would expect
22 to see in your day-to-day patients as it relates to
23 diagnosing their underlying condition?

24 A. I'm not sure I follow your question.

25 Q. That was a poorly-worded question probably based on my

search for a pen that worked.

2 By reviewing your curriculum vitae, it appears as
3 though you have particular interest or specialized interest
4 in the treatment of lung cancer; is that accurate?

5 A. I have an interest in research pertaining to lung
6 cancer, though my actual patient-care activities are much
 broader than that.

8 Q. And would the time that you spend in a clinical
9 setting relate to both the diagnosis and treatment of the
10 patient population presenting with solid tumors?

11 A. If this answers your question, my time involved in
12 patient care includes the workup and diagnosis and treatment
13 of a whole range of patients with different types of
14 malignancies. They tend not to be patients with leukemia,
15 but they tend to be patients with breast cancer, kidney
16 cancer, lung cancer, esophageal cancer, et cetera.

17 Q. Do I take it from that answer, you personally had the
18 opportunity to diagnose patients or serve as a primary
19 diagnosing physician for patients presenting with renal cell
20 carcinoma?

21 A. Correct.

22 Q. And are you able to quantify for me what portion of
23 your practice consists of patients who are being diagnosed
24 and/or treated for renal cell carcinoma?

25 A. My practice follows the distribution of cancer within

1 the population, and we all know that renal cancer is not
2 nearly as common as breast cancer, lung cancer or rectal
3 cancer. I have had many, many countless patients in my
4 years of practice with renal cancer.

5 At one point when I was practicing in Massachusetts, I
6 was actually running a research protocol pertaining to renal
7 cancer. I had a publication and made a presentation of that
8 work. But I can't give you a specific percentage of
9 patients with renal cancer except to say I have a broad
10 experience in managing those patients.

11 Q. You indicated that you have at least one publication
12 as it relates to renal cell carcinoma. Is that the
13 publication that is reflected within your CV?

14 A. Yes.

15 Q. Are there any other either publications or lectures
16 that you have delivered that relate to the diagnosis and/or
17 treatment of renal cell carcinoma?

18 A. Not that I can recall.

19 Q. Doctor, do you recall when it was that you were first
20 contacted by Mr. Kelley and/or any other member of his firm
21 regarding participation in the Nancy Farkas matter?

22 A. You know, that's not a date that I have written down,
23 so I can't tell you that. I could look at the date of my
24 correspondence that I received from Mr. Kelley and that may
25 help to answer your question, and it appears I have a letter

1 here dated March 31st, 2000, so perhaps I could conclude
2 that it was early this year, but I'm not sure.

3 Q. And, doctor, is that your file that relates to the
4 Nancy Farkas matter you currently have in front of you?

5 A. Yes.

6 Q. I would like the opportunity to take a look at that,
7 please.

8 Based on your recollection, what were you told
9 regarding the facts of the Nancy Farkas matter?

10 A. Please repeat your question.

11 Q. Certainly. Based on your independent recollection as
12 opposed to reviewing any correspondence that's contained in
13 your file, what were you told regarding the underlying facts
14 of the Nancy Farkas matter?

15 A. So if I understand your question, before I ever
16 received and reviewed the records, your question is, during
17 any phone contact that I might have had with Mr. Kelley,
18 what information by way of introduction did he give me?

19 Q. Yes. Either Mr. Kelley or a member of his staff.

20 A. I have absolutely no recollection.

21 Q. Okay. Do you know how it is that Mr. Kelley came to
22 contact you in this case?

23 A. No.

24 Q. Had you ever been requested to review either by way of
25 consultation or ultimately rendering opinions on behalf of a

1 party in a medical malpractice matter or any other matter
2 for Mr. Kelley?

3 A. I don't believe that I have ever been asked to review
4 cases in the past by Mr. Kelley.

5 Q. Have you ever been asked to review cases in the past
6 on behalf of any other lawyer at Reminger and Reminger?

7 A. Yes.

8 Q. On how many separate occasions?

9 A. I don't remember.

10 Q. Is it more than ten?

11 A. It may be, but I'm not sure.

12 Q. Over what period of time have you reviewed these cases
13 for lawyers at Reminger and Reminger?

14 A. I believe that I have been doing this for about the
15 past three to four years.

16 Q. Do you recall which lawyers at Reminger and Reminger
17 you have reviewed medical malpractice matters for?

18 A. I won't remember their names. I recall Mr. Scott.
19 There's an Attorney Malnar for whom I reviewed cases, a
20 Mr. Rymond, and those are the only names that come to mind
21 right now.

22 Q. As it relates to the Nancy Farkas matter, do you
23 recall by way of that initial telephonic contact what you
24 were requested to do?

25 A. No. Again, I don't recall the details of that

1 conversation.

2 Q. Would review of the documents contained in your file
3 refresh your recollection?

4 A. Well, I certainly would be glad to read the letter
5 there from Mr. Kelley, however, the details that I recall
6 from this case are really cumulative as I have read
7 additional records, and I really can't go back in time and
8 reformulate an opinion at one point in time.

9 Q. Do you have an understanding as to what role you are
10 expected to serve or play in the context of this litigation?
11 What I mean by that more specifically is are you prepared to
1.2 comment as to a standard of care, or are you planing to
13 testify exclusively as it relates to causation issues?

14 A. I have opinions pertaining both to standard of care
15 and causation issues.

16 Q. I was provided as I arrived here today one page -- I
17 believe you have the original in front of you -- of
18 handwritten notes. Are those notes that you have prepared
19 or made in conjunction with review of materials in the Nancy
20 Farkas matter?

21 A. Yes.

22 Q. And simply to avoid handwriting issues or
23 interpretation issues, can you read for me what's contained
24 on this one page of handwritten notes which we'll mark as
25 Exhibit 1?

1 A. Sure. On the upper left-hand corner I have "Attorney
2 Kelley." Underneath that "Farkas v. CCF." In the upper
3 right-hand corner, "Dr. Noble, GU, Dr. Mahajan, neurologist,
4 Dr. Ocampo, radiologist, Dr. Thompson, radiation oncologist.
5 Additional records-deposition

6 Dr. Noble.

7 10/98, flank pain/hematuria, IVP distal right ureter
8 and right lateral kidney, KUB negative. 11/98, chest x-ray
9 negative, cystoscopy and retrograde negative. 12/98, pelvic
10 echo negative. 8/99, seizure, head CT, multiple lesions,
11 largest ten millimeters, MRI head, multiple five lesions,
12 largest 12 millimeters, chest CT, multiple nodules, largest
13 14 millimeters plus hilar adenopathy, abdominal CT five
14 centimeters, renal mass. 11/99, Miss Farkas died. Visit,
15 10/26/98, gave her strainer, review IVP. 11/12/98, culture
16 sent, long discussion with patient and sister (LPN),
17 11/23/98, cystoscopy and right stent/retrograde. 12/7/98,
18 notes normal pelvic echo, follow-up one month, 1/15/99.
19 Plan stone workup tests. 12/9/98, phone call, delay 24-hour
20 urine until after Christmas. 8/99, sister picks up
21 records."

22 Q. When would it have been that you prepared the notes
23 which you have just read into the record contained on
24 Exhibit 1?

25 A. I prepared these notes over the last couple of days.

1 Q. In anticipation of your deposition here today?

2 A. Correct.

3 Q. Would that have been done in conjunction with review
4 of the materials in this case?

5 A. Correct.

6 Q. Other than Exhibit 1, are there any other handwritten
7 notes that you have made in conjunction with reviewing your
8 materials?

9 A. Just the notes I have made along the margin of the
10 stage matrix.

11 Q. And if I understand your notes to the left of the
12 stage matrix, does that indicate percentage of
13 survivability?

14 A. Those are predicted five and ten-year survival
15 statistics by stage.

16 Q. From what source did you derive those predicted
17 survival statistics?

18 A. Those are based on my knowledge of the general
19 literature and natural history of renal cancer. It's not
20 from a single source.

21 Q. The notations that you have put to the left of the
22 stage matrix prior to making those notations, did you
23 specifically review any literature or was that all simply
24 done by memory?

25 A. It was done by memory.

1 Q. Prior to making the notations that you are referring
2 to -- and for clarification we'll mark this page
3 Exhibit 2 -- When was the last time you had personally
4 reviewed statistics as it related to five and ten-year
5 survivability of renal cell carcinoma?

6 A. Well, I read a great many medical journals, I spend
7 several hours a week keeping up on the medical literature
8 and I have many files containing those data and I have notes
9 that I take when I read the literature, so this is an
10 accumulation of information, much of which is updated on a
11 regular basis.

12 Q. And prior to making the notations to the left on the
13 left-hand margin of Exhibit 2, when was the last time you
14 reviewed a medical article -- I'm sorry, a medical journal
15 article that identified or quantified five or ten-year
16 survival statistics in the face of renal cell carcinoma?

17 A. Given the fact that I probably read 50 articles a
18 week, that's not a statistic that I can give you.

19 Q. Doctor, I'm going to hand you a copy of your report
20 which I understand you generated on behalf of the Defendants
21 in this case, and I have marked it as Exhibit 3. Is the
22 document marked as Exhibit 3 the only report you generated
23 in this case?

24 A. Yes.

25 Q. Were there any drafts of this report?

1 A. What I do when I write a report is work on the
2 document on the computer and, therefore, rather than there
3 being drafts, I modify the document, and when I come out
4 with a final version -- I'm sure you have generated
5 documents on a computer -- you don't use a draft, you simply
6 modify with a word processing program, so there's no actual
7 draft.

8 Q. Was there any predecessor report that was generated
9 and forwarded to counsel in this case?

10 A. I don't believe so; no.

11 Q. On page 1 of Exhibit 3 you indicate that the documents
12 you reviewed in conjunction with this matter, you noted,
13 number 1, the office records of Dr. Noble; number 2, EMH
14 Regional Medical Center inpatient and emergency records; 3,
15 radiology report from EMH Regional Medical Center; 4, report
16 of Richard J. Hirschman, M.D.; 5, report of Joseph Edward
17 Davis, M.D.

18 Does that itemization of those five categories of
19 documents identify the totality of information you had
20 available to you at the time you generated your April 4th,
21 2000 report?

22 A. Yes.

23 Q. Do I -- have you been provided any additional
24 information since generating your April 4th, 2000 report?

25 A. Well, today I received a copy of the deposition of

1 Dr. Noble, but I have only flipped through this. I have not
2 read it in great detail.

3 Q. Were you provided any summaries of Dr. Noble's
4 testimony?

5 A. No.

6 Q. Have you been provided a copy of Dr. D'Amico's
7 testimony?

8 A. No. Everything that I have is listed here.

9 Q. Okay.

10 MR. KELLEY: Deb, I think the records
11 because they're headed up as Regional -- EMH Regional
12 Medical Center's, but they are all listed in here. He has
13 Dr. Parikh's records, Dr. Stamatis'. He has the Ireland
14 Cancer Center records. They are all in this binder.

15 BY MS. DIXON:

16 Q. Are you able to quantify for me approximately how much
17 of Dr. Noble's testimony you have reviewed prior to
18 commencing this deposition?

19 A. No; I'm not. I flipped through this, but I'm not
20 really prepared to answer questions on this document. I
21 really need to look at it more carefully.

22 Q. Based on the portions of Dr. Noble's testimony that
23 you have reviewed, is there anything that struck you up
24 until this point that caused you to change any of the
25 opinions that you rendered in your April 4th, 2000 report?

1 A. Well, item number 3 in this report says, "I do not
2 know whether Dr. Noble intended to perform additional
3 studies to complete the workup for hematuria following the
4 12/7/98 visit," and just in perusing this, there are a
5 couple of places where he makes it clear that he had a plan
6 in mind to include as part of the workup a CT scan.

7 So, again, I'd rather not be questioned in detail
8 until I read this thoroughly, but there are a couple of
9 places where he is very clear in saying that, in fact, with
10 careful forethought, he was intending to obtain a CT scan.

11 Q. Let me make sure you and I are both on the same page.
12 I appreciate the fact you have only had an opportunity to
13 peruse Dr. Noble's testimony. On page 2, paragraph 3 of
14 your report, the first sentence says, "I do not know whether
15 Dr. Noble intended to perform additional studies to complete
16 the workup for hematuria following the 12/7/98 visit."

17 And what you're telling me is based on your review of
18 Dr. Noble's testimony, it's now clear to you that he had a
19 more expansive plan in mind?

20 A. Well, I want to be fair to you. I don't think that
21 it's reasonable to question me in detail on this issue. You
22 know, I could have simply said, well, the report stands, but
23 I'm trying to be entirely forthright and say that I believe
24 that when I review this document carefully, I'll be able to
25 describe to you some of his comments which would lead me to

1 further clarify paragraph number 3, but I'm not really
2 prepared to go into detailed discussion about that tonight.

3 Q. Let me ask you a follow-up question regarding sentence
4 1 of paragraph 3 of your report. Is it fair to say that
5 based on the review of the documents you were provided,
6 separate and apart from Dr. Noble's deposition transcript,
7 your review of those documents left you unable or without
8 information sufficient to determine whether or not Dr. Noble
9 intended to perform any additional studies to complete the
10 workup for hematuria following the 12/7/98 visit?

11 A. Well, we know that he intended to see the patient
12 again in his office. What we don't know without his
13 deposition is exactly which additional studies he was
14 planning to perform. We do know that he was planning to
15 complete his workup, but I don't know without the deposition
16 exactly what that consisted of.

17 Q. Doctor, I would ask you to limit your answer to the
18 next question to the records that you were provided at the
19 time you generated your April 4th report. My question is,
20 would you agree that within Dr. Noble's office chart, there
21 is no written suggestion that he had ordered or planned to
22 order a CT scan for Nancy Farkas?

23 A. I would answer that with the clarification that a
24 follow-up visit was scheduled and we couldn't read his mind
25 to know what he planned to do at that visit. One can infer

1 that he had a plan for that visit, but specifically to your
2 question, does it say that he planned to do a CT scan at
3 that visit, no.

4 Q. There's no requisition for a CT scan; correct?

5 A. Not that I saw.

6 Q. And the only mention of a CT scan is contained in the
7 provisional and the final IVP report; correct?

8 A. I don't recall exactly.

9 Q. Would you agree with me that nowhere in Dr. Noble's
10 office notes as they relate to Nancy Farkas is there any
11 mention of a CT scan? Correct?

12 A. Being fair, I also have to say that when I saw a dozen
13 patients today and at the end of my note when I indicated
14 return visit, one week or one month, I certainly didn't
15 dictate into my note what I planned to do at those visits.

16 Q. But that really wasn't my question. My question was,
17 anywhere contained in Dr. Noble's office note is there a
18 suggestion that he planned to perform or order a CT scan?

19 A. No. He didn't describe what he was planning to do at
20 his future visits.

21 Q. And, in fact, would you agree there is no plan of care
22 identified anywhere within Dr. Noble's office notes that
23 outlines what he planned to do to rule in or rule out renal
24 cell carcinoma?

25 A. I think that is fair. Although, again, one has to

1 bear in mind the purpose of a medical record and what,
2 certainly, what I would or would not put in my records and,
3 as I said, I don't outline what I'm planning to do in the
4 future.

5 Q. There's no plan of care as it relates to ruling in or
6 ruling out renal cell carcinoma; correct?

7 MR. KELLEY: Objection. Asked and
8 answered. You can answer again.

9 A. We know he had a long discussion with the sister at
10 one point. We know he took a lot of time to review the IVP.
11 One would certainly assume that during the lengthy
12 discussions such issues came up. But if you're asking is
13 there in black and white a statement that says I plan to
14 order a CT scan, not that I recall.

15 Q. And is there anywhere where you reviewed in black and
16 white where Dr. Noble included renal cell carcinoma as part
17 of his differential diagnosis?

18 A. I don't specifically recall him saying that.

19 Q. What tests, based on your review of the records you
20 were provided and setting aside for just a moment because
21 you haven't had a full opportunity to review it Dr. Noble's
22 deposition testimony, what tests or studies Dr. Noble
23 ordered or performed to rule in or rule out renal cell
24 carcinoma?

25 A. It's my understanding that Dr. Noble had a paradigm

1 that he follows in working up hematuria which includes
2 initially addressing the possibility of stones and as part
3 of that workup, after doing the metabolic testing involved
4 in that, he would obtain a CT scan.

5 And I understand that this is the pattern that he
6 generally follows as a urologist in doing this kind of
7 workup and that a CT scan was planned to simultaneously look
8 for other stones and follow up on the finding that appeared
9 abnormal or possibly abnormal within the kidney on the
10 additional IVP.

11 Q. Would you agree with me that the explanation you have
12 just provided to me is contained in Dr. Noble's deposition
13 transcript as opposed to contained within the four corners
14 of the medical record?

15 A. Yes; I would.

16 Q. Would you likewise agree with me that within the four
17 corners of Dr. Noble's record as it relates to Nancy Farkas,
18 there is not a single diagnostic study that he ordered that
19 was useful in ruling in or ruling out renal cell carcinoma?

20 A. Well, the initial IVP certainly is a study that can be
21 useful in that regard.

22 Q. That wasn't ordered by Dr. Noble; correct?

23 A. Correct. But he had that available to him.

24 Q. Other than the IVP which Dr. Noble did not order, are
25 there any studies you saw based on your review of the record

1 that were ordered by Dr. Noble which would be useful in
2 ruling in or ruling out renal cell carcinoma?

3 A. No. I believe that those were tests that he did not
4 have an opportunity to order because the patient did not
5 follow up with him.

6 Q. And that understanding that you have based on him,
7 Dr. Noble, not having the opportunity to order those tests
8 is based on his deposition testimony as opposed to the
9 medical record?

10 A. Well, not entirely, because the medical record does
11 show that he intended to see the patient in follow-up I
12 believe in January, if I look at my notes, and that she
13 failed to keep that appointment January 15th.

14 Q. Doctor, have you ever spoken or lectured to attorneys
15 who defend medical providers in negligence claims?

16 A. Please ask me that question again.

17 Q. Certainly. Have you ever spoken in a group format or
18 lectured to attorneys who defend medical providers in
19 negligence claims?

20 A. When I first moved to Cleveland back in 1991, an
21 attorney by the name of Steve Walters asked me to lecture to
22 a group of attorneys on the topic of breast cancer. At that
23 point I had absolutely no exposure to this kind of work and
24 I'm not sure what the specialty of those physicians was. In
25 retrospect, perhaps there were some physicians involved in

1 medical malpractice.

2 Q. You indicated by one of your prior answers you have
3 participated in medicolegal issues in the past; correct?

4 A. Correct.

5 Q. On any of those occasions have you ever rendered
6 opinions on behalf of the plaintiff?

7 A. Yes.

8 Q. And how would you quantity your participation as it
9 relates to plaintiff versus defendant?

10 A. I would guess that among the cases I have reviewed,
11 about 20 percent of them are cases in which I am asked to
12 assist by the attorney for the plaintiff. If you ask in
13 terms of appearances in court, I have only been to court
14 once and that happened to be a few weeks ago and I was
15 working for the plaintiff.

16 Q. And what attorney represented the plaintiff in that
17 case?

18 A. This was a case in Dayton, Ohio.

19 Q. What was the name of the attorney?

20 A. It will come to me. I'm not remembering right now.

21 Q. If you recall, please let me know.

22 I appreciate the fact you have only skimmed
23 Dr. Noble's deposition transcript. Have you had an
24 opportunity to speak with him either in person or by
25 telephone regarding this case?

1 A. No.

2 Q. Have you had an opportunity to speak to Dr. D'Amico by
3 telephone or in person regarding this case?

4 A. No.

5 Q. Were there any opinions you were requested to render
6 in this case which you were unable to or uncomfortable
7 providing?

8 A. No.

9 Q. Have you spoken with Dr. Flanagan?

10 A. No.

11 Q. Do you know Dr. Mark Thompson?

12 A. Yes.

13 Q. And are you aware of the fact Dr. Thompson
14 participated in the care of Nancy Farkas?

15 A. Yes.

16 Q. Have you spoken to Dr. Thompson regarding this case?

17 A. Absolutely not.

18 Q. Is Dr. Thompson a physician whom you respect his
19 professional capabilities as a physician?

20 A. Yes.

21 Q. And is my understanding correct that Dr. Thompson is
22 associated with the Ireland Cancer Center as a radiation
23 oncologist?

24 A. Correct.

25 Q. And that he is also board certified in hospice and

1 palliative medicine?

2 A. I believe that that is the case. I'm not familiar
3 with the details of his CV, but I know that he certainly has
4 training in those areas.

5 Q. As it relates to Dr. Thompson's abilities as a
6 radiation oncologist, first of all, have you had an
7 opportunity to work in conjunction with Dr. Thompson
8 professional to professional?

9 A. No. He has been with us for, I believe, somewhat less
10 than a year and he works mainly out of our Westlake Ireland
11 Cancer Center facility and I don't care for patients there.

12 Q. In the event that you came in contact with a patient
13 who the Westlake facility of the Ireland Cancer Center was
14 more convenient, for example, would you have any hesitation
15 referring that patient to or recommending that that patient
16 be treated by Dr. Thompson?

17 A. No.

18 Q. Would you be comfortable, in the unfortunate event you
19 needed it, permitting one of your family members or friends
20 to be treated by Dr. Thompson?

21 A. Yes.

22 Q. Why?

23 A. Perhaps I misunderstood your question. Would I be
24 comfortable or uncomfortable.

25 Q. I said in the unfortunate event the need arose, would

1 you be comfortable permitting Dr. Thompson to render care to
2 one of your family members or friends?

3 A. The answer is yes.

4 Q. You indicated earlier that you participate not only in
5 the diagnosis but the eventual treatment of patients who
6 present with renal cell carcinoma; correct?

7 A. Correct.

8 Q. As part of your standard protocol both in diagnosing
9 and treating patients who may be presumptively diagnosed
10 with renal cell, do you outline a treatment plan for that
11 patient?

12 A. I'm not sure I understand your question.

13 Q. That's fair. I'm assuming, based on the description
14 you provided me of your practice, that you see patients in
15 various stages, not only the stages of disease but also
16 those who have been diagnosed and those who have yet to be
17 diagnosed; correct?

18 A. Correct.

19 Q. And you indicated earlier that the renal cell
20 carcinoma population is a patient population that you have
21 contact with; correct?

22 A. Correct.

23 Q. My question is, in the process of diagnosing a patient
24 who may be presumed to have renal cell carcinoma, do you
25 personally -- that was poorly phrased -- is it your personal

1 protocol to formulate a treatment plan?

2 A. Well, see, I'm not sure what you're asking.

3 Q. You have a patient that presents to your office whom
4 you presume has renal cell carcinoma or you are suspicious
5 has renal cell carcinoma. As part of your evaluation of
6 that patient, do you formulate a treatment plan to assist
7 you in ruling in or ruling out that condition?

8 MR. KELLEY: Objection. You can
9 answer.

10 A. I always have in my mind an idea of where I'm going in
11 managing a patient, if that's your question.

12 Q. And as it relates to ruling in or ruling out renal
13 cell carcinoma, is there, for lack of a better term, a
14 standardized protocol you follow to ultimately diagnose that
15 patient's condition?

16 A. No. I would individualize it depending upon the
17 situation.

18 Q. I believe you indicated in one of your previous
19 answers you have been in the greater Cleveland area since
20 1991; correct?

21 A. Correct.

22 Q. And would you agree with me as it relates to
23 northeastern Ohio, a CT scan is a readily available
24 diagnostic tool?

25 A. Yes.

1 Q. And it is reasonably accessible for physicians within
2 the northeastern Ohio area; correct?

3 A. Correct.

4 Q. And I'm assuming in the course of your practice, you
5 have more than ample opportunity to utilize CT scans within
6 University Hospitals; correct?

7 A. Correct.

8 Q. And do you have a generalized knowledge of the
9 availability of that diagnostic tool at other facilities?

10 A. Correct.

11 Q. Are you aware of whether or not Elyria Memorial
12 Hospital has CT scanning facilities?

13 A. Most hospitals these days have such equipment.

14 Q. And in your experience of having the need present
15 itself to you and requisitioning and ultimately obtaining a
16 CT scan for a patient, what, on average, would you tell me
17 your turnaround time is from identifying the need to
18 obtaining the test?

19 MR. KELLEY: Objection.

20 MS. PETRELLO: Objection. Are you
21 talking about in the office or what kind of a setting?

22 A. In our institution, there can sometimes be a two or
23 three-week delay depending upon the type of scan and how
24 busy the unit is. Seldom more than that.

25 Q. Would you agree with me that in the event the CT

1 scanning facilities within your hospital are heavily booked
2 with outpatient scans, that you have the ability to indicate
3 some urgency in requesting that test?

4 A. Correct, if it's clinically indicated.

5 Q. Based on your review of Miss Farkas' medical records,
6 are you familiar with the fact that in August of 1999 there
7 was a core biopsy of her kidney?

8 A. Yes.

9 Q. And you're likewise familiar with the fact that that
10 specimen was evaluated by a pathologist?

11 A. Yes.

12 Q. And are you familiar with what the conclusions of the
13 pathologist were regarding that core biopsy?

14 A. I believe it was called a renal carcinoma clear cell
15 type.

16 Q. Do you agree with the statement that a nuclear grade 3
17 renal cell carcinoma is an aggressive cancer?

18 A. I think that when one talks about aggressive or
19 non-aggressive, I think that's a vague statement.

20 Q. In the context of treating or evaluating and treating
21 patients with cancer, is part of your role determining the
22 aggressiveness of their disease?

23 A. I don't follow your question.

24 MR. KELLEY: Object to
25 "aggressiveness."

1 BY MS. DIXON:

2 Q. Doctor, are you aware of medical literature that
3 identifies nuclear grade 3 renal cell carcinomas as,
4 "aggressive cancer"?

5 A. I wouldn't use the term "aggressive." I think it's
6 fairly ambiguous. It doesn't mean much to me.

7 Q. Would you agree that early diagnosis in cancer is
8 Paramount to effective treatment?

9 MR. KELLEY: Objection to
10 generalities. You can answer.

11 A. In what situation?

12 Q. Is there ever a situation where early diagnosis of
13 cancer is not to the patient's benefit?

14 A. Well, I would explain it this way: Theoretically
15 speaking, if one can find a cancer when it is truly, I don't
16 mean clinically but I mean truly, localized and remove it
17 before metastatic disease has occurred, that is before
18 microscopic tumor cells escaped, then an earlier diagnosis
19 does, in fact, favorably impact outcome, absolutely.

20 Breast cancer is a good example of that. If you can
21 find a breast cancer when it's non-invasive or minimally
22 invasive, if you can detect a cervical cancer when it's in
23 situ rather than invasive, this has major impact on outcome.

24 The reason your question is potentially misleading, to
25 take the example of lung cancer, it's been well shown that

1 screening for lung cancer doesn't have any impact on
2 survival because by the time a nodule is big enough to show
3 up on chest x-ray, if it's going to metastasize, it's
4 already done it, so an earlier diagnosis of that nodule has
5 really no impact on that patient's ultimate outcome.

6 Q. Is there any relationship in the area of renal cell
carcinoma between early detection -- excuse me, early
8 diagnosis and ultimate patient outcome?

9 MR. KELLEY: Objection. You can
10 answer.

11 A. It depends on the particular situation involved, but
it's simplistic and potentially misleading to say that in
all cases of renal cancer a diagnosis X number of months
14 earlier would, in fact, alter that patient's outcome.

15 Q. My question is, is there any prognostic value -- let
16 me rephrase that.

17 Is it your testimony that there is no appreciable or
18 quantifiable benefit to early diagnosis of renal cell
19 carcinoma as to the patient's ultimate outcome?

20 A. It depends on the clinical situation.

21 Q. You state on page 2 of your report at paragraph 4, "It
22 is well known that renal'' cell -- I'm sorry, "renal cancer
23 is an extremely slow growing cancer (especially clear cell
24 type)'' --

25 A. Excuse me. Where are you?

1 Q. Page 2, paragraph 4. "-- and that most renal" cell --
2 "most renal cancers are many years old at the time of
3 diagnosis."

4 Is it your testimony that all renal cancer are
5 "extremely slow growing cancer"?

6 A. Well, I would have to clarify the term "slow growing,"
7 just as I requested clarification when you talked about the
8 word "aggressive," and I would use as an example the fact
9 that a one centimeter tumor which is generally at the lower
10 limit of detectability on a CT scan has about a billion
11 cells, and even though discussing double times can open a
12 whole discussion that we may or may not choose to get into,
13 conceptually double times are useful and they tell us how
14 quickly a given tumor is likely to proliferate.

15 We know this, a renal cancer in general, has
16 relatively long double time, something in the range of 80 to
17 90 days, possibly longer, which means that a one centimeter
18 renal cancer is many years old at the time of diagnosis.
19 The other feature of renal cancer is that the
20 characteristics of the natural history of this disease is
21 that microscopic metastases tend to occur early and can be
22 undetectable and may not manifest themselves until long
23 after the initial diagnosis.

24 Q. Are you able to describe for me the doubling
25 characteristics or features of the nuclear grade 3 clear

1 cell renal carcinoma?

2 A. You can't assign a particular double time based on
3 whether a tumor is a nuclear grade 3 or nuclear grade 2, but
4 regardless of the nuclear grade, these are tumors that grow
5 over a period of many years.

6 Q. Do you have any dispute as you reviewed Miss Farkas'
7 medical records that her right kidney was the primary tumor
8 site of her cancer?

9 A. I believe that the right kidney was the primary site
10 for cancer.

11 Q. And as a general rule, would you agree that kidneys --
12 a kidney is generally a primary tumor site as opposed to a
13 location of distant metastases?

14 A. Well, it depends upon the tumor. Metastatic disease
15 to the kidney can certainly occur, but in this case, I
16 believe that her kidney was the primary site.

17 Q. Would it be fair to say that in October of 1998, after
18 Nancy's IVP, that the diagnosis of cancer was there to be
19 made but simply wasn't?

20 A. Well, I'm not sure what you mean by that.

21 Q. Is there any doubt in your mind, based on review of
22 Nancy's medical records and your education, training and
23 experience, that she did, in fact, have renal cell carcinoma
24 on October 20th of 1998?

25 A. I believe that she had renal cancer in October of

1998. I believe it had already metastasized in October of 1998.

Q. And what is your basis for concluding that in October of 1998 Nancy had metastases?

A. Well, we know that in August of 1999 she was full of cancer. She had multiple lesions in her brain. She had multiple lesions in her lungs. She had enlarged lymph nodes in the hilar region related to her cancer. She had lost something like 35 pounds. She had a 5 centimeter renal mass. In terms of what we call tumor burden, this is an enormous amount of tumor, knowing that in order to grow to 1 centimeter in size, a tumor has to be generally 5 to 6 years old. There's no way.

MS. PETRELLO: How many years?

THE WITNESS: 5 to 6 years old.

MS. PETRELLO: Thank you.

A. There's no way in the world that all of this extensive metastatic disease could have entirely popped up in 2 or 4 or even 6 months.

Q. Is it possible for a patient with a stage I renal cell carcinoma to have metastatic disease?

MR. KELLEY: I'm going to object.

For them to have a stage I?

MS. DIXON: Stage I tumor and metastatic disease.

1 MR. KELLEY: From a stage I tumor?

2 MS. DIXON: Yes.

3 A. Here's how I would answer your question: When one
4 talks about staging, one has to be very clear whether one is
5 talking about clinical staging or what is actually present
6 if you could get inside the body and look. If you look at
7 the five and ten-year survival statistics for a patient with
8 a stage I kidney cancer, you see that five-year survival is
9 65 percent give or take a few percent.

10 Q. And, again, just so we're clear, this is your
11 constellation of statistics from the variety of sources you
12 have access to?

13 A. Yes. And I would have no trouble if you would like me
14 to provide an array of textbooks with numbers that are give
15 or take a few percent in this ballpark. This is mainstream.
16 This is not anything unusual that I'm presenting here.

17 Back to my point, a patient with a stage I renal
18 cancer has a predicted five-year survival of about 65
19 percent. Why, if it's small, stage I, localized, removed,
20 do 35 percent of those people die within five years? They
21 die because all of those people have microscopic tumor cells
22 that have departed long before diagnosis of this apparently
23 curable stage I cancer.

24 What happens at ten years, nearly half of those people
25 are dead, and, again, you have already taken out that small

1 apparently stage I cancer maybe nine years ago. It means
2 those microscopic tumor cells departed long before diagnosis
3 and were sitting there.

4 So to answer your question, a patient with a stage I
5 cancer has a very strong likelihood that there's metastatic
6 disease, but we don't call that stage IV because we can't
7 find it.

8 Q. With that answer as a backdrop, doctor, as it relates
9 to this concept of micrometastases that you just alluded to
10 in stage I renal cell carcinoma, of the 65 percent of
11 patients treated who survive for five years, do any of those
patients, based on your experience, have micrometastases?

A. Yes, because some of those go on to die by ten years.

14 Q. But the mere fact that a patient might have
15 micrometastases at stage I does not preclude five-year
16 survival; correct?

17 A. Correct.

18 Q. And, likewise, the fact that a patient diagnosed at
19 stage I with renal cell carcinoma and micrometastases does
20 not preclude a ten-year survival; correct?

21 A. Well --

22 Q. Because you have given me the statistics of 55 percent
23 survive ten years when diagnosed at stage I; correct?

24 MR. KELLEY: 55 or 35? I can't read
25 that.

THE WITNESS: That's 55.

2 A. Please ask me that question once more.

3 Q. Certainly. We have already talked about the fact out
4 of the entire patient population that are diagnosed stage I
5 renal cell carcinoma, 35 percent do not survive five years;
6 correct?

7 A. Correct.

8 MR. KELLEY: Objection.

9 BY MS. DIXON:

10 Q. My follow-up question was, of the 65 percent that do
11 survive five years, you have already agreed with me some
12 percentage of those patients likewise have micrometastases;
13 correct?

14 A. Correct.

15 Q. So my question becomes, wouldn't you agree that the
16 fact that a patient stage I who has micrometastases does not
17 preclude them from surviving for five years?

18 MR. KELLEY: Objection.

19 A. Well, if you're drawing analogy and this is where
20 you're going to Mrs. Farkas --

21 Q. Let me be clear, doctor. I'm not asking you about
22 Nancy Farkas right now. You have drawn my attention to this
23 matrix contained on Exhibit 2.

24 A. Okay.

25 Q. And we have -- you have identified for me plus or

1 minus whatever those percentages might be based on the
2 various sources you drew from at stage I diagnosed and
3 treated at stage I, there is a 65 percent five-year survival
4 rate; correct?

5 A. Correct.

6 Q. And you have already agreed with me that of that 65
7 percent that survive for five years, there are at least some
8 percentage of those patients that have micrometastases?

9 A. Correct.

10 Q. And what I have asked you now is, based on the fact
11 that of the 65 percent that survive five years with
12 micrometastases, wouldn't you agree that the mere fact that
13 a patient has micrometastases at stage I does not preclude
14 their five-year survival?

15 A. That is correct because those metastases can become
16 active at a variety of different points in time. They can
17 become active one year after diagnosis, one month after
18 diagnosis or ten years after diagnosis.

19 Q. And, likewise, as it relates to diagnosis and
20 treatment at stage II, some percentage of those, of the 50
21 percent of patients that survive five years do have
22 micrometastases at the time of diagnosis; correct?

23 MR. KELLEY: Objection. You keep
24 leaving out whether you clarified clinical staging versus
25 absolute stage.

1 BY MS. DIXON:

2 Q. That brings up a good point, doctor. This matrix that
3 you are referring to, is this based on clinical or
4 pathological staging?

5 A. This is based on, in general, it's based on the
6 staging after a patient has had his or her kidney removed
7 for an early stage tumor. For a patient with metastatic
8 disease, in most cases this is variable. A nephrectomy is
9 not done, so this is really a combination of surgical and
10 radiologic staging.

11 Q. I just need to make sure you and I are on the same
12 page. The staging that's contained on Exhibit 2, that
13 relates -- that represents a constellation of both
14 pathological stages as well as clinical staging?

15 A. Correct.

16 Q. Okay. So my question becomes, based on the chart
17 contained in Exhibit 2, a patient diagnosed and treated with
18 stage II renal cell carcinoma, using your numbers, has a 50
19 percent chance -- I'm sorry, has a 50 percent five-year
20 survival; correct?

21 A. Correct.

22 Q. And you would agree with me out of those 50 percent of
23 the patients that survive five years, some percentage of
24 those patients at the time of diagnosis had micrometastases;
25 correct?

1 A. Correct.

2 Q. And despite the micrometastases which they had at the
3 time of diagnosis, stage 11, they nonetheless survived five
4 years; correct?

5 A. Well, that's potentially misleading, though, because
6 if you look at the difference between the five and ten-year
7 survivals, they're 10 percent, 15 percent for stage I and
8 11, relatively small, which is to say that most people with
9 metastatic disease at the time of diagnosis are going to die
10 pretty fast.

11 Q. My question relates to five-year survival statistics.
12 You told me it's 50 percent at stage 11; correct?

13 A. Correct.

14 Q. And you have agreed with me that of the 50 percent of
15 patients diagnosed at stage II that survive five years, some
16 percentage of those patients at the time of diagnosis had
17 micrometastases; correct?

18 A. Correct.

19 Q. And would you agree with me based on current standards
20 within the realm of diagnosing and treating patients with
21 renal cell carcinoma, survival is measured based on a
22 five-year window?

23 A. One looks at both five and ten-year windows.

24 Q. Would you agree with me there is by far more
25 literature evaluating five-year survival statistics in renal

1 cell carcinoma than ten-years statistics?

2 MR. KELLEY: Objection.

3 A. Not necessarily.

4 Q. In the course of your work as a medical oncologist,
5 I'm assuming you deal with patients with brain metastases on
6 a fairly regular basis.

7 A. Correct.

8 Q. And in your experience, for what period of time are
9 patients with brain metastases asymptomatic? And I'm
10 discussing absent treatment.

11 A. Well, they can be asymptomatic during the period of
12 time that these microscopic tumor cells are growing. A
13 single tumor cell that arrived in the brain through the
14 bloodstream has to grow a very long time until it is large
15 enough to cause symptoms or to be visible on MRI scan.

16 So if you're asking how long is it from that very
17 first metastatic cell until the patient has golf-ball size
18 lesions visible on MRI scans and seizures, it can be years.

19 MR. KELLEY: Can we take a quick
20 restroom break?

21 MS. DIXON: Sure. No problem.

22 (Thereupon, a recess was taken.)

23 MS. DIXON: Back on.

24 THE VIDEOGRAPHER: We're back on.

25 BY MS. DIXON:

1 Q. Doctor, before we took a short break, we were talking
2 a little bit about brain metastases. Would you agree that
3 brain -- metastases of the brain grows at different rates in
4 different patients?

5 A. There certainly is variability, however, there is a
6 range of rates at which cancers grow, and, for instance, for
7 most solid tumors, excluding certain high grade lymphomas
8 and small cell lung cancer, cancers grow slowly over a
9 period of years, and even those there's variability. They
10 just don't come up overnight.

11 Q. It is your opinion, if I understand it correctly, that
12 in October of 1998, Nancy Farkas had micrometastases in the
13 brain?

14 A. Correct.

15 Q. And upon what clinically do you base that conclusion?

16 A. Well, I believe that I have answered that question,
17 but I would be glad to review that answer with you.

18 Q. Let me rephrase the question. Is there anything based
19 on Nancy's clinical presentation in October of 1998 that
20 supports your contention she had brain micrometastases?

21 A. No. We know that based on her subsequent course.

22 Q. Are you familiar with a concept known as spontaneous
23 regression?

24 A. Yes.

25 Q. And would you agree with me that that describes a

1 situation where the primary tumor burden is removed and the
2 cancer regresses?

3 A. People have been talking about that in renal cancer.
4 It's an old concept. It still hangs around, but it
5 basically is rare as hens' teeth, and everyone brings it up
6 when they think of renal cancer. But as I teach fellows and
7 residents when I'm at work, it is not something that we see
8 except under extraordinarily rare circumstances. It is not
9 part of the usual natural history of this disease.

10 Q. But it is a real and a documented medical event;
11 correct?

12 A. But it is unreasonable and incorrect to invoke that as
13 a reason to take out a primary lesion. In fact, it is the
14 wrong thing to do to take out a kidney in the presence of
15 metastatic disease by saying, well, we hope that it will
16 cause spontaneous regression.

17 Q. Dr. Levitan, is it your position that had Nancy
18 Farkas' renal cell carcinoma been diagnosed in October or
19 November of 1998, that she would still not be with us today?

20 MR. KELLEY: I object to the double
21 negatives.

22 A. Please ask that again.

23 Q. You're aware of the fact that Nancy Farkas died in
24 November of 1999; correct?

25 A. Correct.

1 Q. And your position, as I understand it, is even with
2 diagnosis in October or November of 1998, she had brain
3 metastases and ultimately would have succumbed to that?

4 A. Correct.

5 Q. My question is, had Nancy's cancer been diagnosed and
6 treated in October or November of 1998, would she have been
7 alive today?

8 A. No.

9 Q. And upon what do you base your conclusion that Nancy
10 Farkas with diagnosis and appropriate treatment in October
11 or November of 1998 would be dead today?

12 A. Well, we know per our discussion a few minutes ago
13 that she already had microscopic metastatic disease in
14 October of 1998, therefore, if one had taken out the kidney,
15 one would have left behind all of that microscopic
16 metastatic disease which by that time had taken on a life of
17 its own and it would have begun to manifest itself in the
18 form of seizures in August of 1999.

19 She would still have had her pulmonary lesions seen on
20 CT scan, she would still have had abnormal MRI of the brain
21 and she would still have died in November of 1999, and in
22 retrospect, would have had an unnecessary operation in
23 October of 1998.

24 Q. Is it your testimony that had you evaluated, diagnosed
25 and outlined treatment for Nancy Farkas in October of 1998,

1 you would not have recommended a nephrectomy?

2 A. I don't believe I said that.

3 Q. I'm asking.

4 A. So your question is?

5 Q. Well, you indicated in your previous answer that Nancy
6 would have gone through a needless surgery.

7 A. In retrospect.

8 Q. My question to you is, if Nancy Farkas had come to you
9 in October of 1998 for diagnosis and treatment, would you
10 have recommended a nephrectomy?

11 A. Yes.

12 Q. And is the reason that you would have recommended a
13 nephrectomy in October or November of 1998 because a
14 nephrectomy offered the patient such as Nancy Farkas the
15 best opportunity to do well?

16 A. Well, once a diagnosis of renal cancer had been made,
17 whether that was October '98 or sometime in the next few
18 months thereafter, one would have done a metastatic workup
19 and if that metastatic workup had been negative, then it
20 would have been appropriate to take out the kidney.

21 That particular procedure would only have been
22 beneficial for her if, in fact, she did not have microscopic
23 metastatic disease that had already spread. Noting that our
24 diagnostic tools aren't good enough to detect microscopic
25 metastatic disease, we go ahead and take out the kidney, but

1 if we could look in retrospect, those people who already
2 have the metastatic disease don't actually benefit from that
3 procedure. It doesn't mean it's the wrong thing to do. It
4 just means they don't benefit from it.

5 Q. But you did indicate in one of your previous answers
6 that in patients who are diagnosed and treated at stage I
7 have a 65 percent five-year survivability, correct?

8 A. Correct.

9 Q. And of that 65 percent, some percentage of those that
10 do survive, some percentage of those patients at the time of
11 diagnosis and treatment have micrometastatic disease?

12 A. Whether or not they survive has nothing to do with
13 whether or not their kidney is taken out if they already had
14 metastatic disease.

15 Q. You seem to have indicated in one of your previous
16 answers that the success of the nephrectomy is relative to
17 whether or not the patient is diagnosed -- at the time the
18 patient is diagnosed there's metastatic disease.

19 A. Correct.

20 Q. And my question to you then becomes, what percentage
21 of the 65 percent of patients diagnosed and treated at
22 stage I renal cell carcinoma that survive five years have
23 metastatic disease at the time of diagnosis?

24 A. You're confusing me. If we look at the five -- and
25 I'll try to answer your question. If we look at the five

1 and ten-year survival statistics for a patient with
2 stage I cancer, as I said, most of these people who have
3 metastatic disease are going to die relatively quickly.
4 There are some in whom that metastatic disease will sit
5 around for a long time before declaring itself.

6 By ten years, most people who have microscopic
7 metastatic disease will have manifested so that if we look
8 at the 55 percent who are alive at ten years of the original
9 group of patients with stage I cancer, those patients are
10 pretty much free of microscopic metastatic disease,
11 acknowledging that occasionally at 15 years a renal cancer
12 will develop a brain metastases, but that's the exception
13 rather than the rule.

14 Q. Are you able to state with any degree of medical
15 certainty what percentage of the 65 percent of renal cell
16 carcinoma patients diagnosed at stage I that survive five
17 years also have micrometastases at the point of diagnosis?

18 A. Well, I would answer that by looking at the ten-year
19 rate. In other words, if we, as I said, let's assume for
20 purposes of discussion that at ten years, if you lived
21 without evidence of metastatic disease, you probably don't
22 have any, acknowledging that there are a few who will pop up
23 later, but for all intents and purposes, if you are okay at
24 ten years, you're probably free of microscopic metastatic
25 disease.

1 The spread between the five and ten-year survival
2 statistics is ten years, so you look at the 65 percent of
3 patients. That group of patients who have lived five years
4 and are disease free, about another 10 percent of the
5 original group will demonstrate metastatic disease in the
6 subsequent 10 years. The rest are free of microscopic
7 disease.

8 Q. Is the short answer, doctor, that of the 65 percent of
9 patients that survive five years with a diagnosis and
10 appropriate treatment of a stage I renal cell carcinoma, you
11 are not able to state with any degree of medical certainty
12 what subset of that 65 percent have microscopic metastatic
13 disease?

14 MR. KELLEY: Objection. I think he
15 just answered that.

16 A. No. I think I have given you what I hope was a very
 comprehensive answer to that question.

18 Q. Is the only indicia you have as to what percentage
19 present with micrometastases based on the ten-year survival
20 rate?

21 A. I don't understand your question.

22 Q. Are you using the ten-year survival rate to
23 retrospectively evaluate what percentage of the five-year
24 survivors have metastatic disease at the point of diagnosis?

25 A. I think we're getting very confused with percentages

1 of percentages of percentages here. Let me try to explain
2 this once more. Okay?

3 Let's start with 100 patients. Let's start with 100
4 patients who have stage I renal cancer at the time of
5 diagnosis. At five years, 65 of those patients will be
6 alive, 35 will be dead. Some of those 65 patients still
7 have metastatic disease that may not have manifested itself
8 at that point. I'm not even going into the whole discussion
9 of the difference between disease-free survival and overall
10 survival. Let's just not go there for now.

11 So of that original 65 patients, another 10 will
12 demonstrate evidence of metastatic disease by the end of 10
13 years leaving us with 55, and those 55 are probably free of
14 metastatic disease, though a couple may fail late. That's
15 as clear as I can be.

16 Q. In October of 1998, do you know what stage Nancy
17 Farkas' renal cell carcinoma was clinically?

18 A. No.

19 Q. Based on the records you have in front of you, are you
20 able to draw any conclusions to a reasonable degree of
21 certainty as to what clinical stage that renal cell
22 carcinoma was in October of '98?

23 A. No. She would have had to have CT scans of the chest
24 and abdomen, brain before one could make that determination.

25 Q. Put another way, would you agree that the reason you

1 are not able to conclude as you sit here today what stage
2 Nancy's cancer was in October of '98 is because she simply
3 did not have the tests that would permit you to make that
4 conclusion?

5 MR. KELLEY: I only object because
6 you took the word "clinically" again out of your question
7 and he's been answering clinically. I don't want to have a
8 misrepresentative record.

9 BY MS. DIXON:

10 Q. That was a late-in-the-evening oversight, not an
11 attempt to mislead you.

12 A. So tell me your question once more.

13 Q. My question is, would it be fair to say that the
14 reason that you are not able to determine the stage of
15 Nancy's renal cell carcinoma from a clinical perspective in
16 October of 1998 is because the tests were not performed that
17 would give you the information you need to draw that
18 conclusion?

19 A. Correct.

20 Q. By the way, have you ever seen any of the films that
21 were taken on October 20th of 1998?

22 A. I don't think so.

23 Q. Did you rely exclusively on the narrative radiology
24 reports that were provided in Miss Farkas' medical record?

25 A. Yes. I looked at a lot of x-rays, but if I'm not

1 mistaken, I don't think that you sent me the films on this.
2 I just read the records.

3 Q. Doctor, as an oncologist, do you agree with the
4 concept that a patient diagnosed with cancer must be given
5 the best opportunity to do well?

6 A. I think it would be hard to argue with that statement.

7 Q. And would you agree with me that a physician treating
8 a patient with cancer must present that patient with the
9 best opportunity to do well?

10 A. Again, it's hard to argue with that statement.

11 Q. Would you likewise agree with me that early diagnosis
12 is critical in providing a patient with the best opportunity
13 to do well?

14 MR. KELLEY: Objection. Asked and
15 answered.

16 A. I think we discussed that at some length before.

17 Q. Is the answer yes or no?

18 MR. KELLEY: Objection. He does not
19 have to answer it yes or no. He can stand on his answer or
20 recite his answer if you want him to.

21 A. I think we had a lengthy discussion about the natural
22 histories of different types of cancers and situations in
23 which early diagnosis affects the natural history and
24 situations in which it doesn't, and I could do that again,
25 we could read the transcript or however you would like to do

1 it.

2 Q. You indicated in your report of April 4th of 2000 that
3 you reviewed the reports of Dr. Davis and Dr. Hirschman;
4 correct?

5 A. Correct.

6 Q. And in conjunction with reviewing those reports, and
7 you can feel free to take them one at time, are there any
8 specific criticisms that you have of -- let's start with
9 Dr. Davis' report?

10 A. Well, they're not fresh in my mind, but if you don't
11 mind waiting, I'll read over the report.

12 Q. I'd be happy to.

13 MR. KELLEY: Can we go off the record
14 while he does it?

15 MS. DIXON: Sure.

16 (Thereupon, a brief recess was taken.)

17 MS. DIXON: Back on.

18 A. I disagree with many points, and if you would like to
19 take the time, we can go through this.

20 Q. You have now had an opportunity to review Dr. Davis'
21 report dated February 18th, 2000; correct?

22 A. Correct.

23 Q. And you have some criticisms and/or comments or just
24 professional disagreements in the conclusions that he's
25 drawn; correct?

1 A. Correct.

2 Q. And can you go through the report and let's do it
3 paragraph by paragraph and tell me what criticisms or
4 disagreements you have with Dr. Davis' opinions.

5 A. He says, "In my opinion with medical certainty that
6 the cyst of the kidney on its lateral border seen on the IVP
7 should have been evaluated by a sonogram and CT scan at the
8 time of the patient's presentation to the emergency room on
9 October 20th, 1998."

10 I see no reason why those studies should have been
11 done exactly on that day, and in my experience in most
12 places, they wouldn't have been.

13 Q. Let me ask you a follow-up question to that, doctor.
14 Is there a time frame in which you would expect a sonogram
15 or a CT scan to be done as a follow-up to the emergency room
16 IVP as it relates to Nancy Farkas?

17 A. Well, in this particular situation, it was -- there
18 was evidence of stone and there was also another lesion of
19 uncertain significance, and it is my understanding that over
20 a period of about 90 days, there was a plan to complete the
21 workup which included a CT scan, and given the natural
22 history of this disease, that is not an unreasonable
23 duration over which to complete a workup of this type.

24 Q. Is there an outside limit which you would consider
25 acceptable between the date of the IVP and obtaining either

1 a sonogram or a CT scan to further evaluate the cyst or
2 mass?

3 MR. KELLEY: We're assuming she
4 doesn't require surgical intervention for the stone or the
5 obstruction?

6 MS. DIXON: Correct.

7 A. Right. I think over a period of a few months, a
8 workup like this should be complete.

9 Q. How are you quantifying "a few months"?

10 A. I'm not going to give you a precise rigid number
11 except to say that given how slowly cancers grow, for a
12 workup to proceed over a period of two or three or even four
13 months is not unreasonable.

14 For example, a woman with a breast lump may go for a
15 second and third opinion and may end up delaying the surgery
16 on that lump three months. It's not unusual. In my
17 experience, that doesn't alter her ultimate outcome.
18 Similarly, a workup like this proceeding in a step-wise
19 fashion over a period of two or three months or so doesn't
20 alter the patient's outcome.

21 Q. Okay. In the third paragraph of Dr. Davis' report, is
22 there anything else you have a disagreement with?

23 A. He says, "It is also my opinion that these studies
24 would have demonstrated findings consistent with renal cell
25 carcinoma. Had the appropriate diagnostic workup been

1 completed at that time with partial or complete nephrectomy,
2 the five-year survival rate would have been better than 60
3 percent."

4 I disagree with that for reasons that we have
5 discussed at great length tonight.

6 Q. And that's based on the micrometastases that you
7 assumed was present in October of 1998?

8 A. Correct. And we don't know whether perhaps even more
9 than micrometastases were present at that time had other
10 tests been done.

11 Q. Moving on, is there anything else in that paragraph?
12 Excuse me.

13 A. No.

14 Q. What about the next paragraph?

15 A. "It is probable that the apparent renal colic of
16 October 20th, 1998 was due to the passage of a blood clot
17 rather than a stone as a result of the tumor."

18 I don't know how in the world one could reach that
19 conclusion. There was evidence of both nephrolithiasis and
20 this other questionable finding in the kidney. I don't
21 think one can tell what the pain was from.

22 "It is my opinion that the tumor had affected the
23 collecting system of the patient at the episode of the renal
24 colic. Although the mass itself was in an area far from the
25 collecting system bleeding can be a sign of tumor."

1 This is all conjecture as far as I'm concerned. I do
2 believe that in retrospect the bleeding was related to the
3 tumor, that is to say the microscopic bleeding was related
4 to the tumor, possibly the stone, as well. I don't think we
5 really know that, but I don't think we can by any means
6 identify where this tumor is relative to the collecting
7 system.

8 Q. But, again, you haven't reviewed the films; correct?

9 A. Correct. But he does say, "Based on the IVP, it is
10 not possible to tell, however, whether or not the lesion had
11 reached the fat around the kidney or was still within the
12 capsule at the time of the original IVP."

13 Q. Do you disagree with his statement that the reason
14 that information is not available is because the appropriate
15 studies were not done to diagnose that condition?

16 A. Well, the word "appropriate" is somewhat judgmental.
17 I would say that additional studies might have clarified
18 that were not done at that time.

19 Q. You don't dispute the fact that the appropriate -- let
20 me ask the question a little bit differently. In your
21 practice, in the face of a suspicious IVP, and I mean a
22 suspicion in terms of concerns of a renal cell carcinoma,
23 would you utilize a sonogram or a CT scan to further
24 evaluate that patient?

25 A. Yes. Over the course of a workup. As we know here,

1 this was a more complicated situation. There was plan to do
2 that, but it was step-wise progression.

3 Q. And would you likewise agree with me that the
4 diagnostic studies that were performed on Nancy Farkas by
5 Dr. Noble between October 26th and, I'm sorry,
6 December 7th of 1998, none of those diagnostic tools were of
7 benefit in further evaluating the cyst or mass identified on
8 the October 20th IVP?

9 A. The cystoscopy and retrograde done on 11/23 could
10 conceivably have been, but as it turned out, it wasn't.

11 Q. That diagnostic tool did not provide Dr. Noble with
12 any additional information regarding the cyst or mass of the
13 10/20/98 IVP; correct?

14 A. Well, unless the absence of demonstrating it can be
15 considered information, which I think it probably can, but
16 it did not demonstrate the mass.

17 Q. Okay. *Any* other criticisms of Dr. Davis' opinions?

18 A. Well, this is more of the same. He says on page 2,
19 "Thus a proper evaluation of the IVP being performed when
20 the patient was first seen on 10/20/98, then a reasonable
21 sonogram or CT would have been ordered."

22 It's, again, the same issue of making a judgment with
23 which I don't agree. Likewise, his comment about the
24 deviation from the standard of care I don't agree with.

25 Now, in the next paragraph, he says -- more discussion

about whether or not a CT should have been done as we have
discussed. "The normal laboratory studies and chest x-ray
of November 1st did mean that the tumor had not metastasized
to the chest at that time." Well, that's a preposterous
statement because the chest x-ray is the crudest of tools
and by no means tells us whether or not metastatic disease
has occurred. "The pelvic ultrasound ordered by Dr. Noble
had no relevance to the diagnostics or evaluation." That's
true.

.He says, "This is a tumor that undoubtedly spread and
grew larger from October 20th, 1998 until early August
1999." If he's implying that the actual initial metastasis
of microscopic cells occurred during that interval, that is
impossible based on the natural history of this disease.
And, likewise, I disagree with the final paragraph as we
have discussed.

Q. Okay. Now, you, likewise, you have in front of you a
copy of Dr. Hirschman's report; correct?

A. Correct.

Q. Why don't you take a moment and review that report and
let's talk about the criticisms you have of Dr. Hirschman's
opinions.

A. Okay. In the last paragraph, he says, "With a
reasonable degree of medical probability, it can be stated
that had the diagnosis of renal carcinoma been made in

1 October 1998, the stage would have been I or II with a
2 greater than 50 percent chance of survival for five years if
3 appropriate surgery had been performed at that time."

4 Well, as we have discussed, we don't know what the
5 clinical stage would have been at that time, but whatever
6 the clinical stage would have been, the subsequent course of
7 her illness and ultimate death would have taken place
8 regardless, so that intervention would not have altered her
9 outcome. In August 1999 when the diagnosis was stage IV
10 renal carcinoma, the chance for survival five years was less
11 than ten percent and she did, indeed, succumb in three
12 months. Those are my comments.

13 Q. Does that conclude your criticisms regarding both
14 Dr. Davis' and Dr. Hirschman's reports?

15 A. I believe so.

16 Q. And as I understand your prior testimony, the reason
17 that you believe, fundamentally the reason you believe both
18 Dr. Hirschman and Dr. Davis are in error is because in
19 October of 1998, Nancy Farkas had micrometastases from this
20 disease; correct?

21 MR. KELLEY: Objection. Go ahead and
22 answer.

23 A. As I said, she had at least micrometastases if not
24 more than that.

25 Q. Based on the diagnostic tools that you have contained

1 in Nancy's medical records, would you agree with me that in
2 October of 1998, she had a negative chest film while in the
3 emergency department of Elyria Memorial Hospital?

4 A. I think that was November of 1998.

5 Q. You're right. November 12th of 1998.

6 A. Yes; she did.

7 Q. And would you agree with me that she did not have
8 between October of 1998 and March of 1999, excuse me, that
9 she did have between October of 1998 and March of 1999 a
10 stable weight of approximately 150 pounds?

11 A. You know, I would have to go back and look at those
12 numbers. I don't disagree with you, I just don't remember.

13 Q. Are you aware of the fact that between October of 1998
14 and March of 1999 Nancy was examined and treated by two
15 separate physicians other than Dr. Noble, Dr. Bonnie
16 Stamatis, an internal medicine physician, and Dr. Mahajan, a
17 neurologist?

18 A. I recall that in looking at the record.

19 Q. Is there anything based on either Dr. Stamatis' or
20 Dr. Mahajan's record that indicates actual metastases either
21 at the brain or the lung?

22 A. Well, the only way to find these would have been
23 through CT scans or MRI scans. This wouldn't have been
24 detected on physical examination, so there was no way that
25 they would have discovered it.

1 Q. Based on your review of the records you have in front
2 of you, would you agree that prior to August 2nd of 1999,
3 Nancy Farkas had no documented neurological symptoms?

4 A. Well, she did have a neurologic exam earlier than that
5 where I believe she had some peripheral findings. She had
6 decreased reflexes in her lower extremities. I believe, if
7 I'm not mistaken, that after a car accident, she had a
8 workup earlier than that. I think it was in the spring. I
9 don't have -- this is just from recollection. I didn't put
10 the dates down, but I do think there was some abnormal
11 neurologic findings earlier than the onset of her seizures.

12 Q. Was there any follow-up for what you're identifying as
13 neurological symptoms in the spring of 1999 done by
14 Dr. Mahajan?

15 A. I believe he had an initial visit with her for those
16 complaints and didn't schedule a further workup.

17 Q. Are you aware of any information that's been presented
18 to you to suggest that Nancy, up until early August of 1999,
19 had any decrease in her physical activity?

20 A. Well, again, if my memories serves me correctly, there
21 were some neurologic problems after this car accident and I
22 imagine that must have affected her physical activity to
23 some degree, though I don't know the details.

24 Q. Are you aware of the fact or is it consistent with
25 your recollection that this neurological examination by

1 Dr. Mahajan related to a wrist injury?

2 A. I think that was his diagnosis; that's correct.

3 Q. Is there any evidence that you have been presented
4 with to suggest that Nancy had any difficulty performing her
5 day-to-day activities in the spring of 1999?

6 A. I don't recall such information.

7 Q. Is there any report that you have been provided to
8 suggest any vision disturbances?

9 A. No.

10 Q. Is there any evidence you have been provided to
11 suggest any speech difficulties that Nancy had prior to
12 August of 1999?

13 A. It's certainly important to clarify that such line of
14 questioning by no means excludes the possibility of
15 metastatic disease.

16 Q. That wasn't what I asked you, doctor. My question
17 was, is there anything in the record you have in front of
18 you that prior to August of 1999 Nancy Farkas had any speech
19 disturbances?

20 A. You know, I don't specifically recall that, but I need
21 to tell you, I have made notes on these lengthy records. I
22 don't want to simply make a statement like that to agree
23 with you without actually looking at the records.

24 Q. Would you agree that as part of the abdominal
25 examination which included deep palpation in October of

1 1998, that there was no evidence of external or internal
2 masses palpated?

3 A. Again, I would have to go back to the original records
4 to look. These are general statements that I don't want to
5 just nod and agree with you.

6 Q. Would you agree on November 12th of 1998, Nancy had
7 normal enzymes?

8 A. Again, I would have to go back and look at that.

9 Q. In the event that Nancy had normal liver enzymes on
10 November 12th, 1998, is that fact consistent with the
11 absence of metastases?

12 A. One certainly could not look at a set of normal liver
13 enzymes and say, therefore, that patient did not have
14 metastatic disease. That's absurd.

15 Q. My question is, are normal liver enzymes consistent
16 with the absence of metastatic disease?

17 A. I don't think that you can draw a connection between
18 the two.

19 Q. Would you agree that on November 23rd of 1998, as part
20 of Nancy's retrograde pyelogram which was negative, which
21 was negative for palpable pelvic mass or tenderness -- I'm
22 sorry.

23 Would you agree that as part of the November 23rd,
24 1998 retrograde pyelogram there was a bimanual exam
25 performed? Is that consistent with your understanding?

1 A. Again, this is a level of detail I would have to go
2 back to the record to look at.

3 Q. So based on recollection alone, you wouldn't have any
4 dispute that there were no palpable mass as part of that
5 bimanual exam; correct?

6 A. Again, I can believe you, but I would have to go back
7 to the record to look at that.

8 Q. Do you know what Nancy Farkas' weight was recorded at
9 on August 2nd of 1999?

10 A. Regarding her weight, I made a note in my records that
11 she experienced a profound weight loss in the summer of
12 1999. I didn't record the specific weights on a specific
13 day.

14 Q. Would you agree that significant weight loss is
15 indicative of met -- can be indicative of metastatic
16 disease?

17 A. It's generally indicative of far-advanced metastatic
18 disease. It doesn't happen early on. It's really end-stage
19 occurrence when the tumor burden is huge.

20 Q. As you sit here today, are you aware of what time
21 frame this weight loss occurred in Nancy Farkas?

22 A. I'd have to go back to the record to chart those
23 specific dates. My recollection is that she had a fairly
24 rapid weight loss over a period of a few months in the
25 summer of 1999, but I don't have access to the specific

1 weights recorded in the record.

2 Q. Based on your review of the medical record, are you of
3 the belief that Nancy Farkas had breast cancer?

4 A. I do not believe that the metastatic disease from
5 which she died was related to her breast cancer. There was
6 a questionably abnormal mammogram and perhaps that was of no
7 significance. Perhaps she had a microscopic area of breast
8 cancer, but it was of no clinical importance in terms of her
9 clinical course.

10 Q. You would agree that the mammogram that's included in
11 the records you reviewed was a baseline mammogram; correct?

12 A. I believe she had not had a mammogram in a number of
13 years.

14 Q. Is it consistent with your recollection that the
15 radiologist's impression of that mammogram was a probable
16 benign finding?

17 A. I believe that I marked that mammogram, but as I
18 recall -- rather than my recalling, let's look for it.

19 Q. Feel free to review that.

20 A. Okay. The impression is there is some asymmetry in
21 the density of the breasts including an area of asymmetry
22 density in the posterior medial left breast. This is not
23 considered suspicious. That is a baseline study to further
24 evaluate the stability of this area of asymmetry. Follow-up
25 mammogram in six months would be recommended.

1 So if you said to me, you know, could this have been
2 an area of carcinoma in situ, maybe, but it certainly is not
3 relevant to her ultimate demise.

4 Q. And it would also be fair to say that the findings of
5 this March 31st, 1999 mammogram were not significant to the
6 conclusions that you drew in reviewing these records;
7 correct?

8 A. Correct.

9 Q. Have you had an opportunity to see Nancy Farkas' death
10 certificate?

11 A. I think that it's included in these records.

12 Q. Did you review the death certificate in conjunction
13 with rendering your opinions in this case?

14 A. I have it here. Please tell me what your question is
15 about the death.

16 Q. My question is, did you review the death certificate
17 in conjunction with rendering your opinions in this case?

18 A. That's a vague question.

19 Q. Did you have the death certificate available to you
20 for review prior to generating your April 4th, 2000 report?

21 A. Yes.

22 Q. Did you review the death certificate prior to
23 rendering your report?

24 A. I read all these records.

25 Q. Are you familiar with Dr. James Cunningham?

1 A. Yes.

2 Q. Is my understanding correct that he is an oncologist
3 associated with the Ireland Cancer Center?

4 A. Correct.

5 Q. Could you -- is Dr. Cunningham a colleague of yours?

A. Yes.

Q. Do you understand that Dr. Cunningham provided care
8 and treatment to Nancy Farkas during her lifetime?

9 A. Yes.

10 Q. Are you likewise familiar with or aware of the fact
11 that Dr. Cunningham is the physician who signed Nancy's
12 death certificate?

13 A. Yes.

14 Q. Based on your review of the death certificate, would
15 you agree that Dr. Cunningham noted here Nancy's immediate
16 cause of death was cerebral metastases from renal carcinoma?

17 A. Yes.

18 Q. And further, that Dr. Cunningham, as part of her --
19 Nancy's death certificate indicated that those cerebral
20 metastases existed for approximately six months from the
21 time of her death?

22 A. Well, we don't know what he really meant by that. We
23 don't know whether he meant that they were radiographically
24 apparent for that period of time, whether he meant that the
25 first microscopic cell arose there within six months. I

1 don't think there's enough information here to really tell
2 us what he meant by that.

3 Q. But you would agree with me that Dr. Cunningham noted
4 as part of Nancy's death certificate that her brain
5 metastases were present for approximately six months prior
6 to her death; correct?

7 A. Well, he says -- I mean, if we're going to be very
8 precise, he says, "cerebral metastases from renal carcinoma"
9 and then it says, "approximate interval between onset and
10 death.'" Does that mean between onset of the renal
11 carcinoma? Does it mean onset of the cerebral metastases?
12 If it means onset of cerebral metastases, does it mean
13 pathologic evidence, microscopic presence, radiologic
14 evidence? I think that there are so many ways one could
15 understand this. I don't think it's useful information.

16 Q. Well, just so that we're both clear, you have in front
17 of you Nancy Farkas' death certificate and you are referring
18 to block 30; correct?

19 A. Correct.

20 Q. On line A, it states, "cerebral metastases from renal
21 cell carcinoma"; correct?

22 A. Correct.

23 Q. And on the line with cerebral metastases, which he has
24 identified as the immediate cause of death; correct?

25 A. Correct.

1 Q. "Approximate interval between onset and death" is
2 noted as six months; correct?

3 A. Correct.

4 MR. KELLEY: I object to the
5 individual line reading. It's clearly written as a
6 sentence.

7 BY MS. DIXON:

8 Q. And would you agree with me that Nancy's date of death
is November 18th of 1999?

A. Correct.

11 Q. And six months prior to that would leave us at
12 May 18th of 1999 plus or minus; correct?

13 A. Correct.

14 Q. And based on your prior testimony, we know that the
15 renal cell carcinoma, as a primary tumor site, would precede
16 the brain metastases; correct?

17 A. Correct.

18 Q. Notwithstanding those facts -- excuse me. Have you
19 been informed either by way of review of deposition or has
20 it been orally communicated to you what Dr. Thompson's
21 conclusions are regarding the duration of the brain
22 metastases in Nancy Farkas?

23 A. All that I know is that Dr. Thompson is serving as a
24 witness on behalf of the Plaintiff, but I haven't seen
25 anything written by him. I don't know -- I know that his

1 testimony will be favorable to the Plaintiff, but I don't
2 know what his opinions are.

3 Q. Are you aware of the fact that Dr. Thompson evaluated
4 and treated Nancy Farkas?

5 A. Yes.

6 Q. Let me represent to you for the purposes of the next
7 question Dr. Thompson's testimony was, at the time of his
8 deposition, he believed the brain metastases to be present
9 in Nancy Farkas between four and six months prior to her
10 seizure in August of 1999.

11 MR. CONWAY: Objection.

12 MR. KELLEY: Objection. I think at
13 the beginning of Dr. Thompson's deposition it was
14 represented that he would offer no opinions in this case
15 regarding standard of care and/or proximate causation and
16 there's never been a report authored by Dr. Thompson on this
17 issue nor is there anything in his medical record which
18 reflects an opinion as to the timing presence of the
19 metastases.

20 Accordingly, this is a back door way to try to get the
21 standard of care or causation opinions in from
22 Dr. Thompson outside of Local Rule 21.

23 BY MS. DIXON:

24 Q. Dr. Levitan, I will represent to you at the time of
25 his deposition in response to questioning by Mr. Conroy --

1 MR. CONWAY: You might want to get my
2 name correct, Counsel. Conway.

3 BY MS. DIXON:

4 Q. -- Conway, Dr. Thompson stated that it was his opinion
5 that brain metastases were present in Nancy Farkas between
6 four and six months prior to her seizure on August 2nd of
7 1999. You disagree with that; correct?

8 MR. CONWAY: Objection.

9 MR. KELLEY: Objection.

10 MS. PETRELLO: Objection.

11 A. You know, it's a little hard for me to comment on a
12 deposition that I haven't read, so we're getting into kind
13 of fuzzy territory here. Even the statement you just made,
14 does that mean that he believes that they were not present
15 longer than six months before it happened or just that they
16 were present four to six months beforehand?

17 Q. I can only represent to you that at the time of his
18 deposition, Dr. Thompson stated that it was his opinion the
19 onset of brain metastases in Nancy Farkas was between four
20 and six months prior to her seizure on August 2nd of 1999.

21 MR. CONWAY: Objection.

22 BY MS. DIXON:

23 Q. Do you disagree with that statement?

24 MR. KELLEY: Objection.

25 A. I would have to know more information. I would say,

1 well, are we talking about radiographic evidence? Are we
2 talking about when the first microscopic metastatic cell
3 arrived in the brain? Those are very different issues.

4 Q. Assuming that at the time of trial both Dr. Cunningham
5 and Dr. Thompson testify that brain metastases, the onset of
6 brain metastases in Nancy Farkas was six months prior to her
7 death, you would disagree with that statement; correct?

8 MR. KELLEY: Objection to both of
9 them testifying. Now we have two individuals who you are
10 representing are going to offer opinions that haven't
11 written reports. You can answer, if it's possible, to that
12 question.

13 A. So you're saying that if doctors X and Y, regardless
14 of who they are, testify that six months and a day prior to
15 her death there was not a single microscopic tumor cell from
16 renal cancer present in her brain, would I agree with that?
17 The answer is absolutely not.

18 Q. I think my question was, if Dr. Thompson and
19 Dr. Cunningham testified at the time of trial that onset of
20 brain metastases was six months prior to the time of death,
21 would you disagree with that?

22 MR. KELLEY: Objection.

23 A. I think I have explained my answer. One needs to use
24 precise language and have a clear explanation of what one
25 means by the "onset of brain metastases" and before defining

1 the question more carefully, I can't give you a better
2 answer than that.

3 Q. Doctor, do you agree as a general medical principle a
4 physician has an obligation to rule out the most serious
5 medical problem?

6 A. I'm not sure what you're asking.

7 Q. If a physician is presented with two separate
8 potential diagnoses of a patient, would you agree that that
9 physician has an obligation to rule out the most serious
10 problem first?

11 MR. KELLEY: Objection. The question
12 is vague. It doesn't contain timing potential parameters to
13 it based upon the individual two problems.

14 A. I'm not sure what you're asking.

15 Q. Would you agree that in October of 1998, Nancy's most
16 serious medical problem was not a kidney stone?

17 MR. KELLEY: Objection. Lack of time
18 frame.

19 MS. DIXON: I think I said in
20 October of 1998.

21 MR. KELLEY: That's not the time
22 frame I'm talking about in my objection. My objection is
23 continued, for the record.

24 A. In October of 1998, there were a number of possible
25 explanations for her blood in the urine and flank pain, and

1 it's my opinion, as I have explained, that in a methodical
2 and reasonable fashion, Dr. Noble laid out in his mind a
3 plan for evaluating and identifying the source of bleeding
4 and I believe I have also explained that the schedule that
5 he selected for this in no way compromised her outcome.

6 Q. So in layman's terms, Nancy was going to die anyway,
7 so even if Dr. Noble missed the diagnosis, it really didn't
8 matter?

9 MR. KELLEY: Objection to the
10 phraseology.

11 A. I would hate to be quoted as using that language
12 because that's not a way that I would express information.

13 Q. Would you agree that that may be the way regular
14 people receive that information?

15 MR. KELLEY: Objection. Ask regular
16 people.

17 BY MS. DIXON:

18 Q. Lay people.

19 MR. KELLEY: Objection. Ask lay
20 people.

21 A. I'm not sure what you are asking me.

22 Q. Well, as I understand your opinions in this case, and
23 correct me if I'm wrong, first of all, you don't believe
24 Dr. Noble breached the relevant standard of care in not
25 diagnosing renal cell carcinoma; correct?

1 A. Well, as I have explained, Dr. Noble had a plan that
2 would have led to the diagnosis of renal cancer that was not
3 completed because she failed to follow up with him, but he
4 certainly would have achieved a diagnosis if she had.

5 Q. If it turned out that it was Dr. Noble who informed
6 Nancy Farkas she did not need to return to his office unless
7 she had additional flank pain or additional hematuria, would
8 you then be critical of the care that he provided to Nancy
9 during her lifetime?

10 A. You're asking if he had no intention of actually
11 following through with a CT scan to evaluate this
12 abnormality on IVP with the kidney?

13 Q. Yes.

14 A. That would have been an omission.

15 Q. And would you agree with me the only evidence you have
16 in front of you that Dr. Noble did plan on performing a CT
17 scan was his testimony at the time of deposition?

18 A. Well, that plus the fact that he scheduled follow-up
19 for -- actually, he scheduled follow-up for this patient for
20 January 15th. That's documented in the handwritten note.
21 He documented in his typed note that he was scheduling
22 follow-up in one month.

23 When he spoke with the patient's sister on
24 December 9th, he stressed the importance of continuing to
25 work up this problem, and even when he passed the records

1 onto what he believed was another physician in Oklahoma in
2 August of 1999, he stressed the importance of conscientious
3 follow-up.

4 Q. Actually, what Dr. Noble purported in -- stressed in
5 September of 1999 was the need for additional metabolic
6 workup; correct?

7 MR. KELLEY: And follow up with a
8 urologist.

9 BY MS. DIXON:

10 Q. Through that urologist; correct?

11 MR. KELLEY: Objection to the phrase
12 "through."

13 A. Correct.

14 Q. Now, Dr. Levitan --

15 A. Let me be sure because I am agreeing in part with
16 Mr. Kelley and in part with you. What he agreed, for the
17 record, that he agreed -- pardon me. What he stressed was
18 the importance of continued follow-up and workup of this
19 patient.

20 Q. Based on your last answer, is there any suggestion,
21 assuming Dr. Noble did, in fact, schedule an additional
22 appointment January 15th of '99, is there any indication in
23 the record as to what would transpire at that office visit?

24 A. No.

25 Q. Was there any suggestion in his --

1 A. Well, he says plan stone workup tests. I believe
2 something like that. There was terminology that he was
3 planning additional tests.

4 Q. There was nothing that mentioned CT scan; correct?

5 A. Correct.

6 Q. And in the portion of Dr. Noble's chart that refers to
7 Miss Farkas' following up with a physician in Oklahoma,
8 would you agree with me that there is no suggestion as part
9 of that -- in that part of the record about renal cell
10 carcinoma?

11 A. He says, okay, "Please remind her she never completed
12 metabolic stone testing! She needs to see a urologist in
13 Oklahoma." So I take that to mean that he is emphatically
14 recommending that she has urologic follow-up.

15 Q. Is there any discussion in the note that you just
16 referred to, or the portion of Dr. Noble's records that you
17 just referred to, that indicates the need for a CT scan?

18 A. It doesn't specifically say that.

19 Q. You have certainly given me the sense in the last two
20 hours you see an extraordinary number of patients in your
21 practice. Is that fair?

22 A. I don't know what "extraordinary" is.

23 Q. You stated earlier you saw 12 patients --

24 A. I see a lot of patients.

25 Q. And that I'm certain at some point during your career

1 as a medical doctor you have had instances where you have
2 encountered a noncompliant patient; correct?

3 A. Correct.

4 Q. Have you likewise encountered instances where a
5 patient has unilaterally discontinued treatment with your
6 office?

7 A. Yes.

8 Q. In either of those scenarios, whether it's a patient
9 who disregards medical advice or discontinues treatment,
10 have any of those situations been in the face of a
11 potentially life-threatening condition?

12 A. Most of the patients that I take care of have
13 life-threatening conditions.

14 Q. Can you describe for me in the face of a patient who
15 either disregards your medical advice or discontinues
16 treatment unilaterally with a potentially life-threatening
17 condition what steps you take to notify the patient of the
18 risks associated with those decisions?

19 MR. KELLEY: Objection. You can
20 answer.

21 A. Right. I mean, I think that's a very general
22 question. I think it depends upon the clinical situation.

23 Q. Let me ask you this, doctor, would it be fair to say
24 in instances where a patient either unilaterally
25 discontinues treatment or disregards your advice in the face

1 of a potentially life-threatening condition that you would
2 take some affirmative steps to make clear to that patient
3 what the risks of that decision were?

4 A. This is a very general line of questioning and I think
5 it really depends upon the situation. It depends upon
6 whether I know the patient has cancer or not. It depends
7 upon the level of suspicion. It depends on where and how
8 the patient is following up. I think there are too many
9 variables for me to generalize.

10 Q. If you believed that patient may have cancer, is there
11 a situation you can envision where you would do absolutely
12 nothing in the face of a unilateral discontinuation of
13 treatment?

14 MR. KELLEY: Objection.

15 A. I don't know how to answer your question.

16 Q. Would you call the patient?

17 A. I think it depends on the situation and whether I feel
18 that that patient is going elsewhere for care. Also,
19 physicians see a lot of patients in their practice, and I
20 think that the patient has to take some responsibility for
21 following up with recommended workup. I think that it's not
22 reasonable to expect that a physician who has articulated
23 the need for follow-up would chase a patient.

24 Q. I asked you earlier that if the evidence in this case
25 unfolded that Dr. Noble told Miss Farkas she did not need to

1 return for additional care or treatment unless she had
2 recurrent flank pain or recurrent hematuria, you would be
3 critical of his treatment; correct?

4 A. Yes.

5 MR. KELLEY: Objection. Asked and
6 answered.

7 BY MS. DIXON:

8 Q. In the event that that was the case, that Dr. Noble is
9 the one who suggested there was no need for additional care
10 and treatment, would you likewise be critical of him if he
11 had attempted to shift the blame of that situation to the
12 patient?

13 MR. KELLEY: This is getting rather
14 theoretical. I think that's more of a jury question. You
15 can answer if possible.

16 A. You're losing me here.

17 Q. You have told me that you would be critical if
18 Dr. Noble was the one who discontinued treatment with
19 Miss Farkas prior to further evaluating her cyst or mass;
20 correct?

21 A. Correct.

22 Q. My question is, if those were the facts that laid out
23 in front of you and Dr. Noble had set forth facts in this
24 case to lead one to believe the patient had discontinued
25 treatment as opposed to him, would you be critical or

1 disappointed in him?

2 MR. KELLEY: Objection to

3 "disappointed in him" as having any relevance to this case.

4 If he has a criticism, you can answer.

5 A. Right. Right. I think this is a very vague question.

6 I don't know how to respond to that.

7 Q. So you don't know how to respond to whether or not you
8 would be critical of a physician who fabricated a story to
9 protect himself from liability?

10 A. In other words, if you said to me do I think that X, Y
11 or Z is within the standard of care of community practice of
12 medicine and you are asking about certain testing
13 procedures, I'm comfortable with that. But this seems to be
14 outside of the realm of the kinds of standard of care
15 questions I'm accustomed to answering.

16 Q. Do you believe that Dr. Noble provided Nancy Farkas
17 the best opportunity for care and treatment as it related to
18 her renal cell carcinoma?

19 A. As I said, I believe that the plan Dr. Noble followed
20 or intended to follow had the patient returned to him was
21 entirely reasonable and would have afforded her the best
22 possible outcome with her cancer.

23 Q. But notwithstanding that, would you still expect her
24 to be dead today?

25 A. Correct.

1 Q. So even if Nancy had followed up as Dr. Noble alleges
2 he instructed her to do, it's your position that as we sit
3 here today, she would not be alive?

4 A. Correct.

5 MS. PETRELLO: Asked and answered.

6 MS. DIXON: I'd like to take a
7 minute. Let's go off.

8 (Thereupon, a recess was taken.)

9 THE VIDEOGRAPHER: Back on.

10 BY MS. DIXON:

11 Q. Doctor, as part of the records you have been provided,
12 do you have Dr. Cunningham's records from the Ireland Cancer
13 Center?

14 A. I have them in front of me.

15 Q. Contained in there is a three-page report dated
16 September 21st of 1999.

17 A. I have it.

18 Q. I would ask you to turn to the second page of that
19 report, the last paragraph, about halfway through the second
20 paragraph, the sentence that begins "we noted."

21 A. "We noted, for example, the relatively low volume of
22 disease that Mrs. Farkas has as including the favorable
23 location of pulmonary metastases within the chest both of
24 which would tend to indicate likely responsiveness to
25 immunologic treatment. In that regard, we discussed a

1 program of combined immunotherapy and low dose subcutaneous
2 interleukin-2 and concomitant interferon therapy to be given
3 on an outpatient basis on a once daily basis for five days
4 per week and then repeated on a weekly basis for up to three
5 consecutive weeks in four-week cycle," et cetera, et cetera.

6 Q. Okay. As it relates to the statement, "The relatively
7 low volume of disease that Mrs. Farkas has," is that
8 relating to her brain metastases?

9 A. Well, I can tell you what my association to this -- my
10 understanding of this statement would be. This is
11 conjecture on my part. Acknowledging that, as medical
12 oncologists, we try to balance providing a patient with
13 truth about their disease and also some measure of hope, and
14 I could imagine sitting down with a patient who has a very
15 serious disease who's about to start chemotherapy and trying
16 to project the best possible face of that disease in order
17 to give the patient some hope in initiating treatment.

18 And I think that that is what Dr. Cunningham is doing
19 here by saying, look, you don't have liver metastases, you
20 don't have bone metastases. You know, we can radiate your
21 brain, and lung lesions are often responsive, never cured,
22 but responsive to interleukin-2, and I think that this is a
23 reflection of his very humane approach to that patient. I
24 don't think this is a statement to describe the biologic
25 nature or the natural history of this cancer.

1 Q. Dr. Levitan, the document you are referring to or
2 we're discussing at this point, this is not a document that
3 was for the benefit of Nancy Farkas, is it? This is a
4 between medical professionals document?

5 A. I think he's describing the conversation he had with
6 the patient.

7 Q. Let's take a moment and refer specifically to the
8 phrase, "the relatively low volume of disease that
9 Mrs. Farkas has." Is that relating to her brain metastases?

10 A. Well, I don't really know what it's relating to.

11 Q. Is there any suggestion that this document was for the
12 benefit of anybody other than Dr. Cunningham, Dr. Stamatis,
13 Dr. Thompson and to be part of Dr. Cunningham's record?

14 A. I believe that what he is reflecting is the content of
15 his discussion with the patient, and I think that it is of
16 use to those other physicians because it will help them to
17 use a similar approach in discussing this illness with the
18 patient.

19 Q. Do you disagree, assuming that that phrase related to
20 Nancy Farkas' brain metastases on September 21, 1999, do you
21 disagree with that description that she had a relatively low
22 volume of disease?

23 A. Well, you know, it's one of these vague terms. It
24 sort of goes in the same basket with aggressive and things
25 like that. This patient had a lot of cancer. This patient

1 had lost 35 pounds. This patient had lung mets, brain mets
2 and died within weeks of this, within a few short weeks of
3 this encounter, regardless of how Dr. Cunningham described
4 her tumor volume and regardless of whether this is, as I
5 believe, a description of a humane way of presenting this to
6 the patient or not, this patient died a few weeks later.
7 This was a lethal tumor burden at that point in time.

8 Q. My question is, do you disagree with Dr. Cunningham's
9 description of Nancy's brain metastases as relatively low
10 volume of disease?

11 MR. KELLEY: Objection.

12 BY MS. DIXON:

13 Q. Is it your position that in a patient with
14 micrometastases of the brain, there is no meaningful
15 treatment to prolong that patient's life?

16 A. I think it's a vague statement that I couldn't either
17 agree or disagree with.

18 Q. I don't know what that means.

19 A. If you could ask that question in reference to
20 Mrs. Farkas, it would be easier for me to answer than as
21 opposed to a theoretical question.

22 Q. I would like to ask you the more general question
23 first, just as stated.

24 MS DIXON: Can you read it back,
25 please?

(Record read as follows:)

THE NOTARY: "Question: Is it your

3 position that in a patient with micrometastases of the
4 brain, there is no meaningful treatment to prolong that
5 patient's life?"

6 A. I think it depends on the clinical situation.

7 Q. And would you agree that the physicians that actually
8 evaluated Miss Farkas in a clinical setting would be in a
9 better position to comment on whether or not there was
10 meaningful treatment available to her to control her disease
11 and when?

12 A. Well, as the saying goes, hindsight is always 20/20.
13 In fact, now that we can look back, we can make much more
14 accurate conclusions than could her physicians at any point
15 in time without the benefit of all the information we have
16 today.

17 Q. So it's your position that you, as the hindsight
18 reviewer, are in a better position to comment on whether or
19 not there was meaningful treatment available to Miss Farkas
20 from October '98 forward?

21 A. Yes.

22 Q. Other than the opinions that you set forth in your
23 report dated April 4th of 2000, are there any other opinions
24 you expect to testify about at the time of trial?

25 A. Let me answer you this way, I have tried very hard to

1 convey all those ideas that I think are important either in
2 this document dated April 4th or in response to your
3 questions today. That's a potentially dangerous question
4 because I imagine that something could come out at the trial
5 in response to your question and then you could say to me,
6 well you didn't tell me that on June 20th in good faith. I
7 have tried to express all my opinions today, though I don't
8 know what would be elicited by additional questions you may
9 ask at a later date.

10 MS. DIXON: I thank you for your
11 time. I don't have any further questions.

12 MR. KELLEY: Colleen, do you have any
13 questions.

14 MS. PETRELLO: No; I don't.

15 MR. KELLEY: He will read

16 - - -

17
18 (Thereupon, the deposition was concluded
19 at 12:00 p.m. and signature was not waived.)
20
21
22
23
24
25

SIGNATURE PAGE

Nathan Levitan, M.D.

I certify that this deposition was signed in my
presence by Nathan Levitan, M.D. on this _____ day of
_____, 2000.

IN WITNESS WHEREOF, I have hereunto set my hand
and affixed my seal of office in this City
of _____, County of _____,
on this _____ day of _____, 2000.

Notary Public

My commission expires:

NANCY FARKAS vs. CLEVELAND CLINIC FOUNDATION, et al.
Case Number 393101 Judge McCafferty
Deposition Date Tuesday, June 20, 2000

I, NATHAN LEVITAN, M.D., wish to make the following changes:

[illegible]

State of Ohio) SS.
County of Cuyahoga)

CERTIFICATE

I, Denise C. Winter, a Notary Public within and for the State aforesaid, duly commissioned and qualified, do hereby certify that the above-named witness NATHAN LEVITAN, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed upon a computer; that the foregoing is a true and correct transcript of the testimony so given by him as aforesaid, and that this deposition was taken at the time and place in the foregoing caption specified.

I do further certify that I am not a relative, employee or attorney of any of the parties hereto, and further that I am not a relative or employee of any attorney or counsel employed by the parties hereto or financially interested in the action.

IN WITNESS WHEREOF, I have hereunto set my hand
this 21st day of Edit, 2000.

Denise C. Winter
Notary Public

My commission expires March 3, 2001.

Atty Kelly

Farber V. CCK

Dr Nolls - GU
Markeyan - Neurol.

O'Leary - radiol
Thompson - RAT

additional records - Dept. of Health

10/98 - Clark from Boston

I VA died @ 10/98 + @ 10/98

RUB @

11/98 - CAR @

cypto + retrograde @

12/98 Pelvic Echo @

3/99 Sz → HCT - mult. lesions largest 10mm

MAI 10/98 - mult. lesions largest 12mm

CHCT - mult. nodules largest 12mm + Anastrozole

abx CT 5cm, renal mass

11/99 - MS. R. K. died

VISITS: 10/26/98 - gen Anastrozole - review 2 VP;

11/12/98 - culture sent

11/23/98 - cypto + @ Sz + retrograde

12/7/98 - notes re pelvic echo → Sz up 1mo (11/98)

12/9/98 - P.C. - delay 24 hours
cont'd p x.m.s

11/15/99

8/99 - Sz. re picks up records

#1

x-rays, and, if clinically indicated, isotopic studies. Clinical staging may also include laparotomy and biopsy of distant sites.

Pathologic Staging. Histologic examination and confirmation of extent is recommended. Resection of the primary tumor, kidney, Gerota's fascia, perinephric fat, renal vein, and appropriate lymph nodes is recommended. Laterality does not affect the N classification.

DEFINITION OF TNM

Primary Tumor (T)

TX	Primary tumor cannot be assessed
T0	No evidence of primary tumor
T1	Tumor 7 cm or less in greatest dimension limited to the kidney
T2	Tumor more than 7 cm in greatest dimension limited to the kidney
T3	Tumor extends into major veins or invades the adrenal gland or perinephric tissues, but not beyond Gerota's fascia
T3a	Tumor invades the adrenal gland or perinephric tissues but not beyond Gerota's fascia
T3b	Tumor grossly extends into the renal vein(s) or vena cava below the diaphragm
T3c	Tumor grossly extends into the renal vein(s) or vena cava above the diaphragm
T4	Tumor invades beyond Gerota's fascia

Regional Lymph Nodes (N)*

NX	Regional lymph nodes cannot be assessed
N0	No regional lymph node metastases
N1	Metastases in a single regional lymph node
N2	Metastasis in more than one regional lymph node

*Note: Laterality does not affect the N classification.

Distant Metastasis (M)

MX	Distant metastasis cannot be assessed
M0	No distant metastasis
M1	Distant metastasis

STAGE GROUPING				
65/55	Stage I	T1	N0	M0
50/35	Stage II	T2	N0	M0
45/20	Stage III	T1	N1	M0
		T2	N1	M0
		T3a	N0	M0
		T3b	N1	M0
		T3b	N0	M0
		T3b	N1	M0
		T3c	N0	M0
		T3c	N1	M0
< 10	Stage IV	T4	N0	M0
		T4	N1	M0
		Any T	N2	M0
		Any T	Any N	M1

HISTOPATHOLOGIC TYPE

The predominant cancer is adenocarcinoma; subtypes are clear-cell and granular-cell carcinoma. A grading system as below is recommended when feasible. Sarcomas and adenomas are not included. The histopathologic types are:

Renal cell carcinoma
Adenocarcinoma
Renal papillary adenocarcinoma
Tubular carcinoma
Granular cell carcinoma
Clear cell carcinoma (hypernephroma)

HISTOPATHOLOGIC GRADE (G)

GX	Grade cannot be assessed
G1	Well differentiated
G2	Moderately differentiated
G3-4	Poorly differentiated or undifferentiated

BIBLIOGRAPHY

- Glazer AA, Novick AC: Long-term follow-up after surgical treatment for renal cell carcinoma extending into the right atrium. *J Urol* 155:448-450, 1996
- Guinan PD, Vogelzang NJ, Freingen AM, et al: Renal cell carcinoma: tumor size, stage, and survival. *J Urol* 153:901-903, 1995
- Hermanek P and Schrott KM: Evaluation of the new tumor, nodes, and metastases classification of renal cell carcinoma. *J Urol* 144:238-242, 1990
- McDonald JR, Priestley JT: Malignant tumors of the kidney: surgical and prognostic significance of tumor thrombosis of the renal vein. *Surg Gynecol Obstet* 77:295, 1983
- Mostafi FK, et al: Histological typing of kidney tumors. WHO international histological classification of tumours. World Health Organization: Geneva, 1981
- Targonski PV, Frank W, Stuhldreher D, et al: Value of tumor size in predicting survival from renal cell carcinoma among tumors, nodes, and metastases stage I and stage II patients. *J Urol* 152:1389-1392, 1994

#2

Nathan Levitan, M.D.

2980 East Belvoir Oval
Shaker Heights, OH 44122
Telephone: 216-491-1194
Fax: 216-491-1196
Email: Nlevitan@aol.com

April 4, 2000

Attorney James M. Kelley, III
Reminger & Reminger
113 Saint Clair Avenue, N.E.
Cleveland, OH 44114-1273
Tel. 216-687-1311
Direct Line 216-430-2169
Fax. 216-687-1841

Re: Nancy J. Farkas v. The Cleveland Clinic Foundation, et al.
Cuyahoga County Court of Common Pleas Case No. 393 101
Reminger File No. 2100-10-41354-99

Dear Mr. Kelly:


I am writing to you in follow-up of our recent telephone conversation. I have reviewed available medical records pertaining to the care of Ms. Farkas, and I have formulated a number of opinions in this regard.

I have reviewed the following records:

- Office records of Dr. Noble
- EMH Regional Medical Center inpatient and emergency records
- Radiology reports from EMH Regional Medical Center
- Report of Richard J. Hirschman, M.D.
- Report of Joseph Edward Davis, M.D.

Key dates and events can be summarized as follows:

- On 10/20/98 Ms. Farkas was evaluated at EMH for flank pain and hematuria. IVP was performed and was read by Dr. Ocampo. The interpretation read as follows: "...Obstructive uropathy at the level of the distal right ureter. etiology undetermined, which could be secondary to a non-opaque or faintly opaque calculus. Retrograde pyelogram would be helpful for further evaluation...Persistent filling defect right in the lower lateral border of the kidney. probably represents a cyst or a mass...CT scan or renal conogram would be helpful for further evaluation."



- Dr. Noble evaluated Ms. Farkas on 10/26/98, 11/12/98, and 12/7/98. His work-up included a cystoscopy and right retrograde pyelogram on 11/23/98. This study revealed no evidence of a ureteral calculus. The urethra, bladder, right ureter, and right renal collecting system appeared normal. Other studies included an unremarkable chest x-ray on 11/22/98, an unremarkable KUB on 10/27/98, and a pelvic sonogram on 12/7/98 that showed only uterine fibroids. The patient did not return to Dr. Noble following the 12/7/98 visit.
- On 8/2/99 Ms. Farkas was hospitalized following a grand mal seizure at home. CT scan of the brain revealed multiple enhancing lesions involving both cerebral hemispheres. Chest x-ray on that day was unremarkable. MRI of the brain performed on 8/3/99 revealed five metastatic lesions, the largest of which measured 1.2 cm. CT scan of the chest performed on 8/3/99 revealed multiple pulmonary nodules, the largest of which measured 1.4 cm. Also noted were hilar adenopathy and a 5 cm right renal mass. CT guided biopsy of the renal mass revealed renal cell carcinoma, clear cell type.
- Though I do not have detailed records in this regard, I understand that she was treated with Dilantin and Decadron, and that she received brain irradiation. Her condition continued to deteriorate and she expired on 11/18/99.

My opinions concerning this case include the following:

1. In retrospect, the hematuria and flank pain that Ms. Farkas experienced beginning in late October of 1998 were likely due to a renal carcinoma that was subsequently diagnosed in early August of 1999.
2. It is likely that the filling defect visualized in the inferior aspect of the right kidney on IVP in late October of 1998 represented the renal carcinoma.
3. I do not know whether Dr. Noble intended to perform additional studies to complete the work-up for hematuria following the 12/7/98 visit. However, if the patient had returned to Dr. Noble, and if Dr. Noble had obtained a CT scan or ultrasound of the right kidney, it is likely that the renal mass would have been visible at that time. If this work-up had been completed, it is likely that Ms. Farkas' renal cancer would have been diagnosed in December of 1998 or January of 1999, six to seven months earlier than the actual date of diagnosis.
4. It is well known that renal cancer is an extremely slow growing cancer (especially clear cell type) and that most renal cancers are many years old at the time of diagnosis.
5. The survival statistics for patients with renal cancer are remarkable in that the risk of dying of renal cancer within five years following surgical resection is



approximately 35% for patients with stage I tumors and 50% for patients with stage II tumors. At the ten-year follow-up point, the risk of death from renal cancer is **45%** for stage I and 63% for stage II. These survival statistics exhibit a key feature of the natural history of this disease: renal cancer cells tend to metastasize to distant sites in the body early in the growth period of the tumor, though metastatic disease may not become clinically evident until many years later.

6. As noted above, if an abdominal CT scan or a renal ultrasound had been performed in December of 1998 or **January** of 1999, it is likely that Ms. Farkas' renal cancer would have been diagnosed at that time. However, it is my opinion as a medical oncologist that the cancer had already metastasized to lung and brain as of December of 1998 or **January** of 1999.
7. Accordingly, a diagnosis of renal cancer six to seven months prior to the actual date of diagnosis would not have altered the patient's prognosis. She would still have developed symptomatic brain metastases, and she would still have died from widely metastatic disease.

Please let me know if I can be of further assistance.

Sincerely,



Nathan Levitan, M.D.

