

0001

1 State of Ohio,)
 County of Cuyahoga.) SS:
 2
 3 IN THE COURT OF COMMON PLEAS
 4 MATTHEW CHASE WAGONER, etc.,)
 et al.,)
 5)
 Plaintiff,)
 6 vs.) Case No. 497179
 Carolyn B. Friedland
 7 MARK R. EVANS, M.D., et al.,)
)
 8 Defendants.)
 9

10 THE DEPOSITION OF LAWRENCE D. LILIEN, M.D.
 THURSDAY, APRIL 8, 2004
 11
 12

13 The deposition of LAWRENCE D. LILIEN, M.D.,
 14 called by the Plaintiffs for examination pursuant
 15 to the Ohio Rules of Civil Procedure, taken before
 16 me, the undersigned, Charles A. Cady, Registered
 17 Merit Reporter and Notary Public within and for the
 18 State of Ohio, taken at the offices of
 19 Cady Reporting Services, Inc., 55 Public Square,
 20 Suite 1225, Cleveland, Ohio, commencing at
 21 11:10 a.m., the day and date above set forth.
 22
 23
 24
 25

0002

1 APPEARANCES:
 2 On behalf of the Plaintiffs:
 3 Michael Becker, Esq.
 Becker & Mishkind Co., LPA
 4 Skylight Office Tower - Suite 660
 Cleveland, Ohio 44113
 5
 6 On behalf of the Defendant Parma Community
 General Hospital:
 7
 Kenneth A. Torgerson, Esq.
 8 Weston, Hurd, Fallon, Paisley & Howley
 2500 Terminal Tower
 9 Cleveland, Ohio 44113
 10
 On behalf of the Defendant Mark Evans, M.D.:
 11
 Gary H. Goldwasser, Esq.
 12 Reminger & Reminger Co., LPA
 1400 Midland Building
 13 Cleveland, Ohio 44115
 14
 On behalf of the Defendant Lawrence D.
 Lilien, M.D.:
 15 John Bulloch, Esq.
 Moscarino & Treu, LLP
 16 The Hanna Building, Suite 630
 Cleveland, Ohio 44115
 17

ALSO PRESENT:

Scott W. Kolodny, M.D.
Becker & Mishkind Co., LPA

LAWRENCE D. LILIEN, M.D.
of lawful age, called by the Plaintiff for
examination pursuant to the Ohio Rules of Civil
Procedure, having been first duly sworn, as
hereinafter certified, was examined and
testified as follows:

EXAMINATION OF LAWRENCE D. LILIEN, M.D.

BY MR. BECKER:

Q Good morning, Doctor. Would you tell me your
full name, please, and spell your last name.

A Lawrence Douglas Lilien. L-i-l-i-e-n.

Q What is your current business address?

A I think it's 300 Claridon Avenue in Phoenix.

That's the main office for our group.

Q And what is the name of your current
professional group?

A They call it NAL, which is Neonatal Associates
Limited. NAL.

Q Is that a hospital-based neonatology group?

A Pretty much. We cover pretty much all the
hospitals in Phoenix, in the Scottsdale area.
So we move between different hospitals. We
don't have, like, outpatient practice.

of Pediatrics?

A A member? No.

Q Did you ever receive the American Academy of
Pediatric publications?

A Receive them? I don't know if I receive them,
but I see them, usually. I don't know if
they're mailed. I don't know if they're mailed
to me.

Q How do you become aware of the American Academy
of Pediatrics publications?

A I see them in the office. I mean, I don't know.
They're all over the place. Some of them do get
mailed to me even though I'm not a member,
because I am on the mailing list, apparently.

Q Well, were you ever a member?

A I don't think so. I don't think so. The dues
were high. I don't think I ever joined.

Q Do you subscribe to the journal entitled Journal
of Pediatrics?

A I get it on line, basically.

Q And how long have you been obtaining that on
line in terms of years?

A On line, maybe three years.

the publication, the book?

A I was getting, I think, Journal of Pediatrics,
not Pediatrics. The other ones I get at the
library.

Q Okay. And in 1999 were you receiving or

6 subscribing to the Journal of Pediatrics?

7 A I don't remember. I mean, I get those at the
8 library primarily now. In other words, I don't
9 get three journals, five journals. I get
10 abstracts that come to me through the Internet
11 and I review those. It's a much more efficient
12 way of doing it.

13 And then I get the articles I like either
14 on line or from the library.

14 15 Q Going back to the publications from the American
16 Academy of Pediatrics, have you found them
17 useful and helpful in the past?

18 A Yes.

15 19 Q And have you found the Journal of Pediatrics
20 reliable and helpful?

21 A Pretty much all -- yes. Pretty much all
22 journals are pretty reliable and helpful.

16 23 Q Doctor, were you aware that in early 1999, the
24 American Academy of Pediatrics issued a policy
25 statement regarding surfactant replacement

0006

1 therapy for respiratory distress syndrome?

2 A You mean the one in March?

17 3 Q Yes.

4 A Yeah.

0018

6 A I would assume so, yes.

19 7 Q And as a result of seeing that, did you take any
8 steps at Fairview General Hospital to develop a
9 protocol or policy consistent with the
10 recommendations of the American Academy of
11 Pediatrics?

12 A I think we did make an effort to make a protocol
13 at that time.

20 14 Q Okay. And did you participate in the creation
15 of a policy or protocol?

16 A Assuming one was made, and I'm pretty sure one
17 was, I would have to sign off on it.

18 MR. BECKER: And, John, that
19 policy has been requested by me. You're aware
20 of that.

21 MR. BULLOCH: I don't know
22 that I'm specifically aware of that, Mike, but
23 I'll go back and see if we have that policy.

24 Just for the record, too, I'd like to make
25 a point that the American Academy of Pediatrics

0007

1 was a recommendation, not an absolute guideline
2 or a standard that they promulgated. It was a
3 recommendation.

4 But I will go back -- to answer your
5 question, I'll go back and see if there is such
6 a policy at Fairview.

7 MR. BECKER: If you look at
8 the correspondence between our offices, you will
9 see that I think there was a formal request for
10 production of documents, as well as a letter
11 from me to a young gentleman in the office that
12 was taking over this case for either Chris or
13 George -- and his name escapes me right now.
14 But it was represented to me that there was no
15 policy, so I'm somewhat surprised by that.

21 16 Q Doctor, do you recall the substance of the

17 policy?

18 A No. A lot of the policies -- as I remember, we
19 had a whole pile of them -- were removed and
20 replaced with textbooks. So a lot of the
21 policies which I've asked for from here to go
22 back to get them from Fairview, because I want
23 them now, I can't get them.

24 A lot of them were changed over because of
25 some new JCAHO guidelines at that time saying

0008

1 that we have to use textbooks instead of writing
2 our own guidelines. Our guidelines are used as
3 a teaching model for our nurses, primarily, and
4 to constantly allow us to review techniques and
5 procedures that we're doing.

6 MR. BULLOCH: The bottom line
7 is you have my -- I'll go back and see if we can
8 find that policy. It's possible that -- Bob
9 Austria, I think, is the person who was working
10 with you --

11 MR. BECKER: Yes. That's his
12 name.

13 MR. BULLOCH: -- didn't know
14 the right question to ask.

15 I'll go back and see if there's a
16 historical document that existed at about the
17 time and get it to you if there is one. I don't
18 know if there is one.

22 19 Q Doctor, what I'm interested in, sir, is, were
20 there any guidelines, protocol, outlines, care
21 pathways, algorithms, that you had assisted in
22 the creation of that would assist other
23 pediatricians relative to when to administer a
24 surfactant to a child suffering from respiratory
25 distress syndrome?

0009

1 A No. The pediatricians were administering
2 surfactants. But, again, when that guideline
3 came out, I think we made an effort to make such
4 a guideline. Does it exist? I don't know. I
5 think it probably does. Do I know where it is?
6 I don't know.

23 7 Q All right. But here's my question, Doctor.
8 I'm interested in whether or not that guideline,
9 if you recall, was it directed to nurses or was
10 it directed to the neonatologists and
11 pediatricians?

12 A If it does exist, it would be directed primarily
13 to nurses.

0024

17 today.

18 As you know, this is a question-and-answer
19 session under oath. It's important that you
20 understand the question that I pose. If you
21 don't understand the question or if it's
22 inartfully phrased, I want you to stop me and
23 tell me so. And I'd be pleased to attempt to
24 rephrase or restate the question.

25 Fair enough?

0010

1 A Fair enough.

26 2 Q However, unless you indicate otherwise to me,
3 I'm going to assume that you have fully

4 understood the question that I have posed and
 5 you have given me your best and most complete
 6 answer today.

7 Fair enough?

8 A Fair enough.

27 9 Q What have you reviewed in preparation for
 10 today's deposition?

11 A The medical records of Matthew Wagoner and the
 12 x-rays from Parma, as well as the x-rays from
 13 Fairview, as well as the MRI scan from Fairview.
 14 And that's primarily it.

28 15 Q When you say "medical records," can you be
 16 specific for the record?

17 A I have --

29 18 Q You have Parma records as well as Fairview
 19 records of Matthew?

20 A I don't have Parma records. I have Fairview
 21 records.

30 22 Q So you have not reviewed Parma records?

23 A No. Except there may be pieces of it referred
 24 to in our records, but not specifically Parma

0011

1 And this is just Matthew's chart.

31 2 Q From Fairview?

3 A From Fairview.

32 4 Q So you have looked at films from Parma, films
 5 from Fairview, the child's chart from Fairview.
 6 Anything else?

7 A And the MRI scan from Fairview.

33 8 Q Of his brain?

9 A Of his brain.

34 10 Q Okay. Have you done any research in preparation
 11 for today's deposition?

12 A Research? I pretty much know most of the
 13 literature historically. Have I gone over some
 14 more of it?

35 15 Q Yes.

16 A Basically, I've gone over the stuff I went over
 17 before, yes.

36 18 Q That's what I'm interested in, Doctor.

19 In anticipation or preparation of today's
 20 deposition, what articles, what textbooks, what
 21 did you look at?

22 A I think the guidelines I went over, because
 23 there's about 18 references, I think, in there
 24 pertaining to surfactant. I went over some of
 25 those.

0012

2 A There are about 18 references.

3 There's a slight delay on this.

4 I went over those references.

5 I also tried to review the literature on
 6 infants that are large who get surfactants and
 7 could not find any studies to date, at least
 8 until -- pertaining to surfactant on babies over
 9 1700, 1750 grams.

10 And I also reviewed some other literature
 11 not showing any evilness about or any signs
 12 saying surfactant improves CNS function in
 13 follow-up, which are basically in those 18 or 19
 14 articles in the guidelines.

38 15 Q When you say "guidelines," can you be more
 16 specific exactly what you're referring to?

17 A Those are the March ones. I think it's March of
18 '99. The last page has a whole bunch of
19 references. I think 18 of them pertain to
20 surfactant usage in premature babies.

22 39 21 Q So the literature that you looked at would be
the articles cited at the end of the guidelines?
23 A Primarily.

0013

1 A I think there was another reference of term
2 babies, babies over 36 weeks, over two kilos,
3 that was published in 1998. And I'm not sure.
4 I think the author was Lotze. L-o-t-z-e. And
5 that pertained, basically, to using a surfactant
6 on those type of babies.

7 But those babies were babies that had
8 pulmonary hypertension, pneumonia, and meconium
9 aspiration. And as I remember, the conclusion
10 was less ECMO but no difference in air traps or
11 anything else.

13 41 12 Q Other than the one article by Lotze that you
have cited -- and do you remember which journal
14 that was published in? Did you tell me?

15 A I think it's the Journal of Peds.

17 42 16 Q Other than that article, any other articles
outside of the articles listed and attached to
18 the guidelines?

19 A I think that's it.

21 43 20 Q Okay. Doctor, do you have any criticism of the
care rendered to Matthew Wagoner while Matthew
22 was still at Parma General Hospital?

23 MR. TORGERSON: Objection for
24 the record.

25 Please answer.

0014

1 A I did not review those charts, so it's pretty
2 hard for me to criticize it.

4 44 3 Q If Matthew, Doctor, would have been transferred
to your facility, Fairview General Hospital,
5 two, four, even six hours earlier, would any of
6 your subsequent treatment have been different?

7 MR. TORGERSON: Objection.

8 Please answer.

9 A May I --

10 MR. BULLOCH: You can go ahead
11 and answer. He's just making an objection for
12 the record.

13 A It may have.

15 45 14 Q Please elaborate as to how it may have.

16 MR. TORGERSON: Just a

continuing objection.

17 Go ahead, Doctor.

18 A Again, this is based on fragmentary knowledge of
19 the chart, of their record. There are two blood
20 gases I'm aware of. One was like a 7.25 pH, a
21 CO₂, I think, was 40. Then when I put the
22 arterial line in, there's another gas that was
23 an improving base deficit, meaning the child was
24 improving in terms of blood gas. And the
25 question is whether or not an earlier blood gas

0015

1 would have been worse. I don't know.

2 And if it were the case, maybe fluids
3 would have been indicated earlier. I would have

given antibiotics earlier, but I don't think that would have made any difference. It would not have made any difference.

So I guess the main maybes would be maybe I would have done a gas earlier. This is a maybe. I don't know what the child was really doing before I got there, from my records. At least not in great detail. And maybe that gas would have shown a significant metabolic acidosis, and maybe I would have done something at that time. Those are all the maybes.

And maybe I would have put the kid on antibiotics earlier, but that would not have made any difference.

46 18 Q If there would have been earlier evidence of metabolic acidosis, would you have likely intubated earlier?

A Probably not. It depends upon how severe it is. It depends upon how much oxygen the baby was on and what he looked like.

If he was perfusing well, if his FiO2 requirement was only 30 or 35 percent O2, it's

hard to perceive that I would have intubated him.

47 3 Q Let me try this again, Doctor, because I want to make sure that we've covered it, because this is an important issue to everyone sitting at this table. I want to make sure that we've covered this thoroughly.

Had this child been transferred to Fairview two hours, four hours, and even up to six hours earlier, is there a chance -- a chance -- that this child's intubation and the administration of surfactant would have occurred earlier than when it ultimately did occur?

MR. TORGERSON: Objection.

You may answer.

A I don't think so.

48 17 Q Now, you responded yourself, personally responded, to the call from Parma for this child?

A Yes, yes.

49 21 Q Was there the creation of a run sheet, a separate document, for your run over to Parma to pick up this child?

A I'm not understanding you.

the run from the neo team from Fairview going over to Parma?

A There was a document created by the nurse and the ambulance who went over to Parma. I went over separately to get there faster. I drove over there by myself, met our run team, which appeared, I'm guessing, maybe 20, 30 minutes after I got there.

So when I got there I started to work on the baby, between the UAC, getting some gases, and then our run team got there 20, 30 minutes later. It allowed us -- it allowed me to get there quicker. If I went with the run team, I would get there a half an hour later.

The document that was created is my

admission note, which was written while I was at Parma, some of it. And the run team, as you say, was the nursing note, the transport note, that's included in the chart.

51 20 Q You have already mentioned one of the things you did once you arrived is you created a line through the umbilicus of this child?

A Yes.

And I should say, Doctor, at any time during the balance of this deposition, you are more than free to look at any charts, records before responding. It's not a memory contest. I think the main thing was put that line in, get another -- a repeat gas, because they had previously got a capillary gas. And I think the blood culture they were not able to obtain, and they started antibiotics just before I got there. There was a white count that was drawing differential.

I think the main thing is that line, doing a gas, and then speaking to the parents.

53 14 Q And Matthew was ultimately transported back to Fairview, and I assume that you went back in your own vehicle?

A I went back in my own vehicle, most likely. And I probably followed the transport van back, but I don't know for sure if I followed them or whether or not I literally left and went home from Parma.

54 22 Q Were you the physician or neonatologist that was in charge of Matthew's care from the time he arrived at Fairview up until the time his second pneumothorax was diagnosed?

A Yes.

55 2 Q And when you weren't at the hospital but were at home at night or during the early morning hours, were you regularly contacted regarding Matthew's condition?

A Yes.

56 7 Q Would you explain kind of the interplay or how it works between the house pediatrician, the neonatal nurses, and you, the interplay when you're not in house?

A There was a house physician in house around the clock. And I would say their main job was to stabilize any sick baby and take care of emergencies, because obviously, we could not be there instantaneously.

So whenever we weren't there, we would sign out to the one that was on call, let them know what infant may give them grief or difficulties. And then if there are problems, emergencies, they take care of them. If it could wait, they would defer to us the following morning or they'd have the nurse call.

Pretty much anything that happened that was at all slightly unusual with the infant, they would call the house physician, but they

would also let us know as well. And so we would decide based on what happened whether or not we

3 would be coming in for that event.

4 Maybe further questions may help.

57 5 Q Were they supposed to contact you before they contacted the house physician?

6 A No. They would do both or they would do the
7 house physician right away. Because the house
8 physician was literally sleeping down the hall.
9 And if there was, like, an air trap or a
10 pneumothorax, they obviously would call them
11 right away, not us.

12 So if they diagnose by transillumination,
13 they're going to call the house physician to fix
14 it because I can't fix it on the phone.

15 58 16 Q When you say "they," you mean the nurses?

17 A The nurse practitioner, the nurses, yes.

18 59 18 Q Well, could the pediatric house officers make
19 their own independent decisions on your patients
20 without checking with you?

21 A They could make some decisions, but if it was a,
22 quote, "large decision," they would not.

23 In other words, if you're talking about a
24 little glucose, do I give a push of sugar, they
25 would do that. If you're talking about holding

0021 1 a feeding, they would do that. They would use
2 their judgment and so would our nurses.

3 If the house physician did something --
4 this didn't happen that often -- that the nurses
5 felt uncomfortable about, they would let the
6 house physician know and they would go call us.
7 They would let them know they were calling us.
8 They would not go around them without them being
9 made aware.

10 The same thing. If I did something to the
11 baby that was sick, I would try to let them know
12 as well that I was doing something so they could
13 keep up with what's happening with the infant.

60 14 Q When you say "they," you're referring to the
15 nurses and the pediatric house officer?

16 A Well, the nurse would know because I'd be
17 speaking with her --

61 18 Q Yes.

19 A -- so that would be the house physician.

20 In other words, if I decided to make a
21 respirator change that I think was important for
22 the house physician to know, I would either call
23 them or I would tell the nurse or ask the nurse,
24 "Will you please let the house physician know I
25 made a change in the ventilator?" because they

0022 1 have to know as well because they're there all
2 the time. They're there all the time.

62 3 Q If the pediatric house officer sensed a need to
4 administer surfactant therapy, could they do
5 that on their own?

6 A They would not do that on their own, especially
7 in '99. I don't know what they do now at
8 Fairview, but in '99 they would not do that on
9 their own because that would not be like an
10 emergency, like a three-second decision, in '99.

63 11 Q You obviously recommended the transfer of
12 Matthew from Parma to Fairview after your
13 arrival, correct?

14 A Actually, before my arrival.
64 15 Q Okay. And what was the basis of that decision?
16 A Probably with that much O2 he was on, I spoke
17 with Dr. Evans. And my general pattern would be
18 if the child is requiring more than 40 percent
19 and looked like he's not going to get better,
20 get him out.
21 I also spoke to him and I was most likely
22 the one that asked to do the white count, do a
23 blood culture, start him on antibiotics. That
24 was initiated with phone calls with me. I
25 should say most likely.
0023
1 And the gas was probably my initiation as
2 well.
65 3 Q But the reason for the transfer was that this
4 child would receive better or more specialized
5 care in the NICU?
6 A I'm going to change -- I think the reason for
7 the transfer is the concern that a baby was
8 requiring 40, 50 percent oxygen, is going to get
9 worse and need a ventilator, and they're not
10 equipped to provide a ventilator or CPAP or give
11 surfactant.
66 12 Q What was your working diagnosis when you had an
13 opportunity to assess Matthew at Parma?
14 A Respiratory distress of some sort. Could be a
15 wet lung, could be hyaline membrane disease in a
16 large baby. It could be infection. I don't
17 think I had any evidence of this infant being
18 shocky before because you could also have shock
19 lung.
20 In other words, I think he was perfusing
21 well, as best as I could tell, previously,
22 before I got there. But I would say respiratory
0024 distress from some etiology, either infectious,
0025 hyaline membrane disease, pulmonary distension,
0024 all things I would be thinking about in Matthew.
1 2 Q You mentioned respiratory distress syndrome.
67 3 Would you give me a definition of that, please.
4 A Usually when we say that, we'll say surfactant
5 deficiency. And I probably would want to also
6 say it's surfactant deficiency from being
7 premature, because you can get surfactant
8 deficiency from meconium aspiration; you can get
9 surfactant deficiency maybe from sepsis; you can
10 get surfactant deficiency from shock lung.
11 But when I say respiratory distress
12 syndrome, I would mean surfactant deficiency,
13 probably because the infant is premature. And
14 even though we expect most babies over 34 weeks
15 to have surfactant, there are some of them who
16 do not.
17 I think that answers it.
68 18 Q With surfactant deficiency it means in real lay
19 terms that they have nonelastic, or stiff,
20 lungs?
21 A No. I would say it's a lack of a chemical
22 called surfactant or a phospholipid that allows
23 those lungs to collapse. So you have to give

24 positive pressure to expand them or give
25 surfactant that will help decrease the surface

0025

1 tension and allow them to remain open. In a
2 sense I guess it's lungs that are stiff.

69 3 Q Now, you mentioned, did you say, hyaline
4 membrane disease?

5 A That's the same as respiratory distress
6 syndrome.

70 7 Q Okay. At Parma did you review the chest film
8 taken at Parma?

9 A Yes, yes.

11 71 10 Q And I know that you have reviewed that chest
12 film recently.

12 A Yes.

72 13 Q Okay. Tell me your interpretation of that chest
14 film at Parma, taken at Parma.

15 A It was an unusual projection because the ribs
16 are horizontal, so it's hard to assess lung
17 volume. But the lung volume on that projection
18 looked like it was low, and there was haze
19 bilaterally. Heart size, as best as one can
20 tell, was normal. Visualized bones and gas
21 pattern were also normal.

73 22 Q Did that chest film assist you in making a
23 working diagnosis?

24 A It tells me the child could have fluid in the

0026

1 membrane disease or respiratory distress
2 syndrome. It tells me the child could have an
3 infection. It tells me the child could also
4 have hypoplastic lungs.

5 It didn't narrow it down. It did rule out
6 some other things. It ruled out an obvious
7 pneumothorax.

8 There's one more thing on that x-ray too.
9 There was a hypolucent area in the right-middle
10 lobe lung areas as well, which was unusual. But
11 it ruled out, like, diaphragmatic hernia. It
12 didn't assist tremendously except for the
13 rule-outs.

74 14 Q Tell me again what the unusual finding was on
15 that film.

16 A It wasn't reported by the radiologist, but
17 there's a hypolucent area in the right-lower
18 lung field which is a hyperinflated area, most
19 likely, of lung. It tells me that the aeration
20 is not homogeneous.

75 21 Q Consistent with RDS?

22 A It's not part of RDS specifically.

76 23 Q Would it be inconsistent with RDS?

24 A No. In other words, it's another finding. The
25 child has homogeneous lung fields that are not

0027

1 well ventilated on that projection. Again, it's
2 another -- the projection -- or the child's
3 lungs are not that well ventilated.

4 In addition to that, there was an area of
5 lung that was hyperinflated, and that could be a
6 blowout mechanism from secretions that are
7 causing that little piece of lung to
8 hyperinflate, to overexpand.

77 9 Q Was RDS the most likely diagnosis at the time

10 you left Parma?

11 A I'm not -- he had respiratory distress. I
12 wouldn't necessarily say it was respiratory
13 distress syndrome. The baby was five pounds and
14 35 weeks' gestation. I would say he has a
15 breathing problem, and it could be immature
16 lung, it could be sepsis. This child had a very
17 high band-to-neutrophil ratio.

18 The mother, I think, was put on
19 antibiotics, so it could be an infection as
20 well. The platelet count, I think, was 131,000.
21 This goes also with infection. So if -- I'd be
22 worried about infection, immature hyaline
23 disease, or respiratory distress syndrome.

24 It could also be fluid in the lung from a
25 rapid delivery process. It could also be

0028

1 hypoplastic lung, smaller lung volume, smaller
2 lungs than the infant should have. There are a
3 lot of other diagnoses.

4 I wouldn't say it was just respiratory
5 distress syndrome. I was concerned about a
6 whole bunch of things.

78 7 Q I understand that. But at the top of your list
8 of differentials was RDS?

9 A It was one of the diagnoses along with sepsis,
10 infection. Those would be the two biggest ones,
11 but --

79 12 Q Did you ever -- excuse me, Doctor.

13 A In a 35-weeker I have to worry about hypoplastic
14 lungs as well.

80 15 Q Would you give me the definition of hypoplastic
16 lungs, then, and distinguish that from RDS?

17 A Hypoplastic lungs is a smaller lung weight. And
18 if you look at the number of alveoli in
19 cross-sectional assessment of the lung, there
20 will be fewer alveoli. If you look at terminal
21 bronchioles and how close they are to the
22 surface of the lung, there will be fewer radii
23 from the terminal bronchiolus to the surface of
24 the lung. In other words, there are less air
25 sacs.

0029

1 There may be less branches as well in a
2 lot of babies that are 35, 36 weeks who don't
3 behave very well on respirators, who besides
4 maybe having hyaline membrane disease, or
5 respiratory distress syndrome, probably have a
6 component of this hypoplastic lung. And that's
7 why a lot of them have a rough go.

81 8 Q Do you have an opinion in terms of probability
9 as to whether this child ever really ever had
10 hypoplastic lungs?

11 A There's no way, unless I have an autopsy,
12 really, of diagnosing that. I think after he's
13 intubated, his lung expansion was rather good.
14 It was about nine rib expansion. And that would
15 lead me to think he really doesn't have
16 hypoplastic lungs.

82 17 Q Did you ever make a diagnosis of RDS in this
18 child?

19 A I think he probably did have RDS. I mean, I
20 can't do a diagnosis in terms of measuring

phosphoglycerol or other surfactants in his lung. But the way he behaved, I think he behaved like an immature lung.

He may have also had sepsis. He may have also had a viral infection. But I think he

definitely had a component of respiratory distress syndrome.

83 Q Did you ever rule out sepsis while he was at Fairview?

A It's hard -- we ruled out sepsis in terms of bacterial disease. But viruses, there are lots of indicators that he may have had a virus early on, in fact.

84 Q So you ruled out sepsis, bacterial sepsis, and you said that the child had some evidence of a virus?

A We ruled out as best we could. We didn't have a blood culture before. We had an endotracheal tube culture at the time of intubation. We had white counts that had high band-to-neutrophil ratios. We treated the child, I think, with antibiotics probably about for seven days.

Do I think he had a bacterial infection? I don't think so. But it was hard to rule it out. We didn't have blood cultures. Viral infections are things that are very difficult to rule out.

But we know that in the first -- when he was intubated and we did a gram stain of the secretions, there were lots of mononuclear

cells, and when he was reintubated about a week later, lots of mononuclear cells in the endotracheal tube secretions.

Also about a week later, when he was reintubated, the white count had lots of mononuclear cells. Also a week later on the spinal tap that was done, lots of mononuclear cells. They are suggestive of a viral infection.

The only one that we really cared about was the one we could treat, and that was herpes. So that's why we cultured for herpes in the spinal fluid, nasopharyngeal culture, and stool culture. None of those drew out herpes.

But there's a lot of information and new stuff coming out in the literature now that says a lot of these babies that behave unusually and end up with CNS problems probably have viral infections.

And the way they made that assessment is by looking at the placenta, by doing some fancy PCR testing, which was not available for us, nor would we be able to do it in '99. In other words, a lot of this infant's clinical course may have been compatible with some sort of

chronic viral problem.

85 Q And what do you think that chronic viral problem -- what type of virus was it?

A Don't know. The literature that came out actually recently -- now, this is from March of

6 this year -- Coxsackie is a big virus that they
7 find, Picornavirus, polyoma virus, and herpes
8 were the four, I think, that they report seeing
9 in evidence in the placenta on infants who
10 behave unusually. And you can't explain why
11 they have CNS problems later on.

12 And in their study --

86 13 Q Well -- excuse me. Let me know when you're
14 done.

15 MR. BULLOCH: Let him finish.

16 A There's a lag.

17 In their study I think 46 percent of the
18 babies they looked at the placentas, they found
19 evidence of viral infections.

87 20 Q When this virus is a mechanism or the means of
21 brain injury, how does it appear
22 radiographically, if you know?

23 A I'm not in a position to specifically know.

0033

1 damaged today?

2 A I would have to review all his records later on.
3 And I don't know what kind of brain damage he
4 has, so it's hard to make that assessment.

5 But assuming he has brain damage -- and,
6 again, it depends if it's motor or mental and
7 what kind of motor deficiency it is. There's
8 too many variables. But to me, if he has a
9 brain problem, a viral infection or some sort of
10 fetal inflammatory syndrome would be a
11 possibility.

12 There also could be a metabolic
13 subcomponent, but, again, we could not find it.
14 We were concerned about the way he behaved on
15 day seven and eight. It did not fit his
16 clinical course.

89 17 Q Doctor, it sounds to me like at the moment you
18 don't have an opinion in terms of probability as
19 to the etiology of this child's brain damage,
20 correct?

21 A I do not see -- I don't see -- I'm trying not to
22 avoid your question. On the medical records
23 that I have, I can see nothing horrendous that
24 we did after we got him until he left that would
25 account for brain damage.

0034

1 I do see evidence of a viral infection, as
2 best one could assess. And if I had to guess,
3 if he does have a brain problem, I would say
4 most likely viral would be what I would say.
5 There are -- there are other possibilities, like
6 genetic and metabolic, but I would bet more for
7 viral infection based on my records.

90 8 Q I hear you. But I want to know if you hold that
9 opinion to a reasonable degree of medical
10 probability that the cause of this child's brain
11 injury today is viral rather than anything else.

12 MR. BULLOCH: Mike, I'm just
13 wondering. Are you asking him if his opinion
14 is, is the cause of Matthew's problem viral as
15 opposed to these pneumothoraxes?

91 16 Q Okay. We'll start there.

17 Do you have an opinion as to which of the
18 two entities, viral versus respiratory distress

19 syndrome and the bilateral pneumothorax that
20 this child sustained, which of the two is more
21 likely for the cause of this child's brain
22 injury?

23 A Again, not knowing his brain injury, it's hard
24 to tell. But viral would be higher on my list
25 because the pneumos that he had were taken care

0035
1 of very quickly.

2 And as best I can tell from our records,
3 blood pressures were stable, pulse is good.
4 Gases before and after showed no evidence of
5 metabolic acidosis. He had good urine output
6 after the event, no BUN creatinine
7 abnormalities. His question mark inappropriate
8 ADH that occurred, occurred like six, seven days
9 later, and that would not be attributed to an
10 event, meaning the pneumothoraxes.

11 In other words, everything that happened
12 around the pneumos and immediately after does
13 not fit for any CNS damage. And also,
14 neurologically he behaved -- he was irritable
15 when we first picked him up. He did not change
16 dramatically after those pneumos. His CNS
17 status remained the same.

18 It's really hard to perceive those pneumos
19 adding anything or contributing to his CNS
20 problem.

92 21 Q You mentioned an ADH something. I didn't hear
22 what you said.

23 A He probably had inappropriate ADH that occurred
24 around September 1. In other words, his sodiums
25 went down. And we responded to it by adding

0036
1 more sodium, restricting fluid, and putting him
2 on the radiant warmer.

3 At that time he was getting a little bit
4 puffy; he was less active as Accuchecks were
5 elevated; his white counts were viral looking.
6 His endotracheal tube, when we intubated him
7 again around that time, had mononuclear cells.
8 That all fit for maybe a viral-induced
9 inappropriate ADH.

10 It would not fit for something that
11 happened six, seven days earlier. Too far
12 removed.

93 13 Q Going back to my question, as you sit here
14 today, Doctor, do you have an opinion in terms
15 of reasonable medical probability as to the
16 etiology of this child's brain damage? If you
17 don't have an opinion, that's fine, we can move
18 on.

19 MR. BECKER: And what I need
20 to know is -- and I'm sure John will do this --
21 if subsequently you look at records and you
22 develop an opinion, then, John, you have to let
23 me -- timely let me know.

24 A Assuming the child has brain damage, and I
25 assume that's why we're here, I'd be betting for

0037
1 a viral syndrome.

94 2 Q When you say "betting," are you saying more
3 likely than not?

4 A More likely than not, that would be the
5 etiology. And then as subpossibilities --
6 again, this is without seeing the child now --
7 metabolic and genetic.

8 But I have lots of evidence, I think, for
9 a viral syndrome of some type.

95 10 Q Doctor, I want you to assume that the head
11 studies in this child reflect an ischemic
12 injury.

13 Is an ischemic injury more consistent as
14 to etiology RDS or viral?

15 MR. GOLDWASSER: Objection.

16 MR. BULLOCH: I'm going to
17 object as well. I don't quite understand your
18 question.

19 But go ahead.

20 MR. BECKER: John, if the
21 doctor doesn't understand the question, he'll
22 let me know.

96 23 Q I'm giving you a hypothetical, Doctor. And then
24 I asked you a question.

25 A I'll try to answer it as best I can, and if I

0038 1 don't, you'll fire back a new question. If he
2 had periventricular leukomalacia, that's
3 associated with three things.

97 4 Q I didn't say -- excuse me, I did not say PVL.

5 A You said --

6 MR. BULLOCH: Let him finish.

7 A You said evidence of ischemia. You'll see where
8 I'm going, I think.

9 There are three reasons why you get PVL,
10 assuming he had that. Let's pretend he did.

98 11 Q Wait a minute. That's not my question.

12 A It's going to be your question, I think.

13 Ischemic injury to a brain causes, can
14 cause, PVL. Does that help? If you have a baby
15 who's shocky, especially a premature baby, one
16 of the parts of the brain that does not get
17 enough blood flow is around the ventricles.
18 PVL, periventricle damage.

19 But there are two other etiologies for it
20 as well besides ischemia or decreased blood flow
21 to the brain. One is overventilating a baby.
22 If you put a small baby on a respirator and
23 overventilate them and drive their CO2s down,
24 you can get the same damage.

25 And the other one is this fetal

0039 1 inflammatory syndrome, viral infections. People
2 are beginning to see that a lot of that PVL,
3 that damage around the ventricles that looks
4 like it could be ischemic, that could be related
5 to overventilating. Could also be from viral
6 infections.

99 7 Q You said you looked at the MRI at Fairview --

8 A Definitely.

100 9 Q -- on this child's brain. Any abnormalities?

10 A There is something that I was concerned about,
11 and that was around the ventricles there was
12 some whiter areas that I wasn't sure whether or
13 not that was early PVL or whether or not that
14 was some just early maturation process.

101 15 Q What was the reason that you ordered a head
16 study?

17 A He wasn't behaving normally. He was very -- he
18 was floppier. This event that started happening
19 around day six or seven when he got edematous
20 and high Accucheck and he wasn't behaving right,
21 we couldn't explain it. He was puffy and less
22 active.

23 I also had some ultrasounds done much
24 earlier on. Some of them I thought maybe the
25 area around the ventricle was whiter than what

0040

1 it should be, and that's really hard to call.

2 So based on that, based on his behavior,
3 based on the fact we had a neurologist look at
4 the child as well who recommended it, that's why
5 we did it.

102 6 Q What was the date of your first ultrasound?

7 A I'll have to check.

103 8 Q And what was the reason that you performed it?

9 A Without even checking, one thing I would assume
10 is a baby, early 35-weeker on a respirator, I
11 would do an ultrasound on. It probably was done
12 around day six or seven. It looks like it was
13 done on day eight.

14 wait.

15 Yes, day eight, on 8-31, which is
16 typically when we do it on your premature babies
17 that are sick on ventilators. At that time it
18 said, "Normal ventricle size, no intracranial
19 hemorrhage, normal anatomy." So at that time it
20 looked friendly, normal.

104 21 Q Okay. You say that you typically do it on
22 preemies. But why do you typically do it on
23 preemies at that age of life?

24 A They have a higher chance of having hemorrhages.
25 If a premature baby hemorrhages, half of them do

0041

1 it on day one, 45 percent do it on day two and
2 three, and about 5 percent do it from day three
3 to day seven. So if you do a screening
4 ultrasound on day seven, you should pick up most
5 preemie hemorrhages.

105 6 Q Okay.

7 A We do it on day seven, others do it on day three
8 and day 10.

106 9 Q What was your understanding of this child's
10 gestational age at the time that you arrived at
11 Fairview?

12 A I thought -- let me look at the chart. I
13 thought he was about 35 weeks. Yes, I thought
14 he was about 35 weeks, and that's based on exam,
15 physical exam, not neurologic exam. Yes.

107 16 Q Going back to our discussion on head studies --
17 and I'm not talking about PVL, I'm talking about
18 just the plain old ischemic pattern of brain
19 injury. I'm not talking about PVL.

20 You appreciate that PVL when it appears is
21 like scallops around the ventricles when it's
22 truly PVL, a scalloplike appearance?

23 A It looks honeycombedlike sometimes. There's
24 cystic PVL and there's noncystic, but most of
25 the stuff that we see is cystic PVL, small holes

0042

1 around the ventricles. Sometimes they're small
2 and they're sometimes multiples and sometimes
3 they're large and not as many, and it occurs
4 right around the ventricular area.

108 5 Q All right. Assume that this child had brain
6 injury, assume it's true that there is evidence
7 of an ischemic brain injury. In other words,
8 the origin is ischemic in nature -- assuming
9 those two to be true, is it more likely than not
10 that this child's brain injury is from RDS
11 rather than from any type of viral infection?

12 MR. BULLOCH: Objection.

13 MR. GOLDWASSER: Objection.

14 A I'm not sure I understand it. Most of the time
15 when we see events from shocky episodes or, as
16 you say, from ischemic episodes, if it happens
17 now, you won't see the PVL typically from our
18 scans until a month. So if we have a baby who,
19 say, at two weeks has evidence of PVL, that
20 means he had an ischemic episode two weeks
21 earlier in utero, or it's related to infection.

22 I don't know if that answers the question.

109 23 Q It doesn't. What I'm going to ask you to do,
24 Doctor, is --

25 MR. BECKER: Chuck, I'm going

0043

1 to ask you if you could go back and reread that
2 question to him, please.

3 (The record was read.)

4 MR. BULLOCH: Objection.

5 Go ahead and answer.

6 A I don't understand the question.

7 Assuming there's an ischemic injury --
8 well, if it's ischemic, then it wouldn't be from
9 infections. Infection is a different mechanism.
10 It has to do with something called cytokines
11 that are released by the infection that stick to
12 that part of the brain, we think, and causes
13 necrosis or damage or cytolysis.

110 14 Q So it's your opinion that --

15 A I don't understand the question.

16 What you're maybe saying is if the infant
17 had some terrible --

18 MR. BULLOCH: Well, Doctor,
19 wait a minute. Don't guess at what he's asking
20 you. Make him ask you a different question. If
21 you don't understand the question, you don't
22 understand it.

23 A I don't understand the medical question, the
24 question.

0044

1 Radiographically, if there's evidence that
2 there was an ischemic injury to this child's
3 brain, radiographically is that more consistent
4 with RDS than something of viral origin?

5 MR. BULLOCH: Objection.

6 MR. GOLDWASSER: Objection.

7 A Radiographically you cannot tell if it's
8 ischemic or viral infection. They -- they'll
9 look the same. They'll look the same, pretty
10 much.

112 11 Q would you defer that issue to a

12 neuroradiologist?

13 A It depends if he's good. There are also
14 neuroradiologists that are not good.

113 15 Q Was Matthew stable by the time he was
16 transported back to Fairview?

17 A Yes.

114 18 Q Within the first few hours after Matthew had
19 arrived at Fairview, what was your working
20 diagnosis?

21 A We mentioned this. Respiratory distress
22 syndrome, rule out sepsis. That was our main
23 diagnosis; that was the working diagnosis.

0045

1 severe?

2 A I would say he's mild to moderate. In the chart
3 I wrote "moderate" sometimes, and I wrote
4 "mild." So I would say mild to moderate would
5 fit. It was definitely not severe.

116 6 Q And how does one distinguish mild, moderate, or
7 severe RDS?

8 A Somewhat by how much oxygen the child is
9 requiring and what kind of settings he's
10 requiring, and on the x-ray findings.

11 After he was intubated, he had good
12 expansion, and this haziness of his lungs, or
13 reticular granulation, was mild to moderate. So
14 we assessed him based on the x-ray and how he's
15 behaving as a large baby with mild to moderate
16 hyaline membrane disease, or respiratory
17 distress syndrome.

117 18 Q Is there any correlation between what you see
19 radiographically and the clinical severity of
20 RDS?

21 A Sometimes there is. In large babies it's
22 sometimes not a very good assessment. Sometimes
23 the x-ray findings in large babies don't look as
24 severe as the infant turns out to be. Like an
25 atypical respiratory distress syndrome on large

0046

1 babies.

118 2 Q You treat the patient; you don't treat the
3 x-ray, right?

4 A Sometimes we treat the x-ray today. Not so much
5 in '99. In other words, there are babies that
6 we would sometimes give surfactant to today
7 based on an x-ray, even though their findings
8 are not all that severe.

9 But back then I would say we treat the
10 baby, not the x-ray, how he's behaving.

11 You have to remember another thing too.
12 This is a 35-weeker, five-pound baby. We had no
13 double-blind studies back then on use of
14 surfactant in those babies. There is no
15 evidence for us to base -- science on for us to
16 give this infant Survanta. We'd be going
17 outside of the studies in 1999.

119 18 Q Well, was there anything published in 1999 that
19 said that you don't give babies of either this
20 gestational age or this birth weight surfactant?

21 A Are you saying don't do it?

120 22 Q Yeah, don't do it.

23 A There are -- there is -- there are
24 recommendations of when to use it, and they do

25 not include babies that are five pounds,
0047

1 35 weeks.

121 2 Q well, do the --

3 A It's not a benign process. We were worried in
4 '99 a lot about pulmonary hemorrhage. So you
5 did not willy-nilly just give surfactant to a
6 baby who has a breathing problem back then
7 because we were worried about complications from
8 it, especially in babies that we perceived as
9 being stable.

10 And there were no studies to support our
11 using it. And that is a little bit scary for a
12 person in a Level 3 community hospital.

122 13 Q what's the rate of complication of hemorrhage
14 from surfactant? what was the known rate of
15 complication of administration --

16 A Of small babies?

123 17 Q -- of surfactants to a 35-weeker? what was the
18 rate of complication of hemorrhage?

19 A We wouldn't know that because there were no
20 studies that pertained to babies that are
21 35 weeks. If you ask me the percentage of
22 babies between 600 grams and 1750 grams, then I
23 would say it's 1 percent.

0048

1 A We don't know. We don't know. I don't know and
2 I don't think anyone else does.

125 3 Q The gases that you drew at Parma, the FiO2 was
4 51 percent, the pH 7.29, CO2 was 46, and the
5 PaO2 was 72.

6 Was that relatively reassuring, that gas?
7 A Reassuring? I mean, he was perceived by me at
8 that time as being stable.

126 9 Q Okay.

10 A Then I could take him back in a hood, gently,
11 and follow him when I get back to Fairview.
12 It's also probably -- I mean, those are the
13 worst gases that we ever saw. They weren't that
14 bad. The gases at Fairview always were above
15 7.30.

16 But seeing that the original gas was 7.25
17 with a CO2 of 40, and then another gas that we
18 did thereafter at Parma was 7.29 and a CO2 of 46
19 with a PO2 of 72, that made me happier that this
20 child was stable and maybe even getting better.

127 21 Q You chose not to intubate Matthew at Parma. Why
22 did you make that decision?

23 A Again, this is back in '99. We generally used
24 more than 60 percent as a cutoff for trying
25 either nasal CPAP or intubating in our hospital

0049

1 in 1999.

128 2 Q Did you use a ratio of P, small a, O2 versus P,
3 large A, O2 to make a determination of when to
4 intubate?

5 A I think you're talking about A/O2 differences.

129 6 Q Right.

7 A No. Basically we look at how much O2 we need.

130 8 Q I didn't hear you.

9 A We basically use -- another index you can do as
10 well to follow babies on ventilators is an
11 oxygenation index. But generally, in terms of

12 intubating, we used how much O2 you needed, and
13 we kept the infants' sats above 90 at that time.

14 In other words, how much oxygen does he
15 need to keep his right-hand pulse oximeter
16 saturation above 90? And if that were over
17 60 percent, or we thought it was going to be
18 happening pretty soon, we'd go ahead and
19 intubate or try nasal CPA, depending on how fast
20 the infant was progressing.

21 He went from 35 at Parma to 51. He sat at
22 our place, at Fairview, when he was admitted,
23 around 50, 55 percent, then he started going up
24 to 60 percent pretty quickly, and across that
25 number. And that's why I think Dr. Saxena

0050

1 intubated. We had an understanding that when he
2 crossed 60 percent, tube him.

131 3 Q And that was what you expected at Fairview of
4 your pediatric house doctor, intubate once his
5 oxygen demands exceeded 60 percent to maintain a
6 pulse ox of greater than 90 percent?

7 A Yes.

132 8 Q When one has a respiratory distress syndrome, is
9 there a normal course? Does it come on
10 initially slow, and then after day two or three
11 it gets much worse? Is there a regular or
12 anticipated course of RDS?

13 A If it's purely respiratory distress syndrome,
14 most of those infants historically, the way we
15 did it in '99, would get worse and peak out at
16 about 72 hours. And at 72 hours they start
17 getting better.

18 There were infants who will require
19 50 percent oxygen who we thought were
20 respiratory distress syndrome who just stayed at
21 50 percent oxygen and never went up and just got
22 better over several days, and those infants may
23 not be pure respiratory distress syndrome.

24 So when Matthew was picked up, he was
25 retracting, he was grunting, he was on

0051

1 50 percent oxygen. His pulse oxes were reading
2 good. His gases were improving in terms of
3 metabolic acidosis, and there was a chance he
4 was going to sneak by and not need a ventilator.
5 And that would have been ideal.

6 But once he started crossing 60 percent,
7 we figured he was only going to get worse and
8 worse, and that's why we intubated him.

9 In other words, there are babies like
10 Matthew, when we saw them at 50 percent, who may
11 not have needed to be intubated. They could
12 have sat at 50 percent, especially if there's an
13 element of pulmonary hypertension, especially if
14 there's a chance that they have a bacterial
15 infection, or even a viral infection. He could
16 have leveled off and not required intubation.

133 17 Q Apparently the first gas drawn at Fairview shows
18 his FiO2 at 66 percent?

19 A Let me see. If the nursing flow sheets where
20 all the gases are listed, because -- on the
21 initial --

22 MR. BULLOCH: Mike, do you

23 want to point where you're getting that?

24 A Yes, I want to look.

25 The nursing notes when he got admitted

0052

1 have different O2s. The gas was not done maybe
2 the minute he walked through the door.

3 Let me show you. Here. This is on
4 page 84. I think it's -- the times on mine are
5 cut off, but on page 48, the one that has a
6 circle in it --

134 7 Q Yes.

8 -- it's a nursing note. It says 1940. I think
9 it says 1940. I see a 9:40. Heart rate of 138;
10 color, pink; FiO2, 60, or .60; pulse oximeter,
11 98. Then there's another time which I don't see
12 right underneath that with a heart rate of 134.

13 Are you on the same page? I don't know.
14 Are you? I can hold it up. It's not going to
15 help you.

16 Then the FiO2 is 57 percent.

17 Then at, I think, 1955 it says 58 percent.

18 Then at 1956 it says 64 percent. It was
19 bouncing around, and somewhere between 57 and 64
20 percent.

21 When the gas was done, you may have been
22 correct. If it said 60-some or 66 percent, that
23 was probably done a little bit later.

0053

2 military time, 8 p.m., showing the FiO2 at 66
3 percent.

4 Assuming that's true --

5 A Right.

137 6 Q -- why wasn't this child intubated at that time?

7 A You don't intubate them -- you don't intubate
8 him the minute he crosses 60. Do you follow?
9 It's not like he goes to 62, you intubate him,
10 because they bounce around. When you think he's
11 going to cross it and you're sure about it, then
12 we would intubate.

13 It's not like at 61 percent the tube goes
14 in his throat. It happens sometime thereafter,
15 and it happened fairly quickly thereafter.

16 I think a better way of saying it is, when
17 it's consistently above 60 percent, not like
18 instantaneously, because you see how he bounces
19 around. You have to look at the trend. But
20 when it's felt he was staying above 60 percent,
21 that's when he was intubated.

138 22 Q Is it likely that you were contacted prior to
23 the intubation?

24 A I'm very, very certain that I spoke to
25 Dr. Saxena and I gave him instructions that if

0054

1 he required more than 60 percent, intubate him.

2 Once he was intubated, the nurses would have

3 called me or Dr. Saxena would have called me.

139 4 Q You have already given me a sense of what your
5 answer is. But let's ask you specifically at
6 that point.

7 At the time of intubation why didn't you
8 administer surfactant therapy?

9 A You're right. I anticipated it.

10 Lots of reasons. One is on the initial

11 x-ray from Parma he had that hyperlucent area,
12 and that means not homogeneous lung disease.
13 And in '99 we were scared to administer
14 surfactant to babies that had big cysts or who
15 had nonhomogeneous lung disease, especially if
16 the infant was considered as being stable.

17 I also considered the infant relatively
18 stable. He was intubated at eight hours of age.
19 His x-ray following intubation was mild to
20 moderate hyaline membrane, but was very well
21 expanded, and we figured that it wasn't that
22 severe of hyaline membrane.

23 And the other reason would be -- really is
24 that in 1999 there were no double-blind,
25 controlled studies using Survanta in babies

0055

1 other than about 600 grams to 1350 or 1750
2 grams. There were no studies on Survanta on
3 babies that were this big.

4 And for us to give it means we had to have
5 a really good reason to do it, because we were
6 going outside of controlled studies. And we
7 didn't like to do that, especially in a
8 community hospital.

9 You could do that more easily if you're in
10 a research hospital.

140 11 Q Are you saying, Doctor, that in 1999 in this
12 country, the standard of care was not to
13 administer surfactant therapy in moderate RDS
14 after a child has been intubated?

15 A To babies that are 35 weeks and five pounds,
16 correct, I am saying that.

141 17 Q And can you point me to any literature that
18 suggests that there should be a cutoff by
19 babies' age or weight relative to the
20 administration of surfactant?

21 MR. BULLOCH: Objection. I
22 think he has answered that.

23 Go ahead.

24 A If the studies are between 600 grams and 1350,
25 primarily, and there were a few outliers up to

0056

1 1750 grams, and those infants were probably
2 around 23 weeks to -- or 24 weeks maybe to
3 around 32 weeks, and that's where all the
4 studies were done, and you want to use that
5 surfactant on infants outside those studies and
6 something bad happens, like a pulmonary
7 hemorrhage, what would you do to me then?

8 would you come after me saying I'm using
9 it outside of the studies and I shouldn't have
10 been using it because I had this terrible side
11 effect? I bet you would.

142 12 Q I'll bet you think that too.

13 Doctor, why did you ultimately give
14 surfactant?

15 A The infant was sicker at that time and was not
16 as stable. Initially he was stable and we felt
17 that he would hold his own until 72 hours and
18 start getting better. Once he blew pneumos, or
19 blew pneumos and required higher pressures in
20 ventilator settings and higher tidal pressures,
21 we figured we'd try it. But it didn't help, but

22 we tried it.
 143 23 Q Doctor, did you go to the mom at any time prior
 24 to when you ultimately made the decision to
 25 administer surfactant? Did you go to the mom

0057
 1 and say, "Look. Your child may need surfactant
 2 therapy, but because of his age, because of his
 3 weight, he" -- "it could be real dangerous. And
 4 it's a tough call, but it may help his lungs.
 5 He's having problems here"?

6 Did you do that, Doctor?

7 A It may have been --

144 8 Q Yes or no, Doctor. Did you do that?

9 A At that moment, no.

145 10 Q Did you ever go to the mom and say, "I'm
 11 withholding surfactant therapy for some medical
 12 reasons"? Did you ever tell the mom that?

13 A That may have been told to her on the following
 14 morning when we spoke to her again. Because I
 15 spoke to her when we picked up the baby.

16 And then when the following morning
 17 occurred and we reviewed everything again and
 18 thought about it and we spoke to the mother,
 19 there's a very high chance I said, "This is not
 20 a child we'd give surfactant to. If he was much
 21 smaller, we would consider it. We would be
 22 doing it, but not in a baby who is this large."

23 There's a very high chance that was told
 24 to the mother the following morning.

0058
 1 specifically saying that to the mom?

2 A No.

147 3 Q Did you ever chart that, the reason you're
 4 withholding surfactant therapy is because of
 5 your concern of this child's weight and age is
 6 beyond the studies --

7 A No.

148 8 Q -- or that you were concerned about a
 9 complication? Did you ever chart that such is
 10 the reason you were withholding surfactant
 11 therapy?

12 A No. No.

149 13 Q The gases done after intubation reflect the FiO2
 14 as 80 percent, the pH 7.36, the CO2 is 37, and
 15 the PaO2, 61.

16 What do you draw from those numbers
 17 postintubation?

18 A That the child has no significant metabolic
 19 acidosis and that he has an adequate PO2.

150 20 Q In your mind, Doctor, at any time at Fairview,
 21 did his respiratory distress syndrome become
 22 severe?

23 A Again, whether or not his respiratory distress
 24 syndrome or respiratory distress syndrome with
 25 some added component, his breathing problem,

0059
 1 became more severe after he blew a pneumo,
 2 required chest tubes, required higher pressures
 3 to inflate him, keep his PO2 or saturation
 4 adequate, that's why we gave the surfactant at
 5 that time.

6 As I mentioned before, there are studies
 7 on large -- at least a study on large babies

8 getting surfactant for things like meconium
9 aspiration, pneumonia, pulmonary hypertension.
10 And those studies indicate that you only
11 decrease the incidence of ECMO.

12 And those studies say giving it late,
13 meaning not right at birth or at six hours of
14 age, is maybe a good thing to try on infants
15 because you can perhaps prevent the need for
16 ECMO, which is partial lung bypass, and that the
17 side effects from doing it, other than the
18 occasional pulmonary hemorrhage, are fairly
19 benign. And there were no change in
20 pneumothoraxes after you gave it. In other
21 words, there was no improvement in terms of air
22 traps after you give it.

23 So it probably was a fairly okay thing to
24 try on a large baby like this who had some sort
25 of breathing problem in terms of safety. But we

0060
1 wouldn't do it on everybody. We would do it on
2 infants that were sicker, and this infant was
3 perceived at that time to be sicker.

4 MR. BECKER: Chuck, would you
5 read the last couple things he said.

6 (The record was read.)

151 7 Q The blood gases at 6 a.m. on the 25th reflected
8 a FiO2 of 85 percent, pH 7.40, CO2 of 33, and a
9 PAO2 of 33.

10 What is the significance of those numbers?
11 This is 6 a.m. on the 25th.

12 A Yes. The infant, some of the infants, when you
13 intubate get dramatically better and open up and
14 go down. You don't know that until many hours.

15 So many hours passed and he's basically
16 similar. He's the same, you know, 80 percent,
17 75 percent, 85 percent. So we considered him
18 stable at that time. Blood pressures were
19 stable. He was not -- and the pressures on the
20 ventilator were relatively the same. So we
21 considered him stable at that point.

0061
1 labs are reported?

2 A I will tell you why. Because they see a PO2
3 of -- can you give me the gas? Because I think
4 that's wrong. But this says a PO2 of 33.

5 There are a few reasons. That gas may
6 have been a capillary gas too. It may not have
7 been an arterial gas.

8 Because the infant had a sodium that was a
9 little bit low, and we frequently, when you pull
10 out blood from a line, would get a low sodium
11 because it's diluted. And we would sometimes do
12 a capillary gas at that time.

13 Let's see what it's labeled. Let's see.
14 It doesn't say what it is, but it's very
15 possible that was a capillary gas so we could
16 check the infant's sodium. Because when you do
17 a heel stick, you get the blood right from the
18 heel; there's no dilution. And it's very
19 possible that's why it was done.

20 More important than that PO2 being 33 is
21 the pH and PCO2, because for the PCO2s we were
22 using saturation, the continuous pulse oximeter

23 reading. So what I would like to know is what
24 was the infant's pulse oximeter at 6:00 in the
25 morning that the nursing notes show. That's

0062

1 more important than that one, again, because it
2 may be a capillary sample.

3 And the other reason is you have a
4 saturation that you're monitoring continuously
5 that you're determining how much O2 he needs,
6 not the blood gas. The blood gas is primarily
7 for pH and PCO2. And at 6:00 in the morning it
8 looks like his sat was reading 92.

154 9 Q Well, what is more indicative of a true PO2,
10 gases or pulse ox?

11 A We go by the continuous number, primarily the
12 pulse ox. And actually, I lied. It was 94.
13 The sat was 94. And previously it was ranging,
14 anywhere from like 1:00 or from midnight --
15 from midnight down to, let's say, 6:00, the
16 pulse oxes range from 98 to 94, and there are
17 several 94s. There's also a 94 at 3:00 in the
18 morning.

19 We determined how much oxygen to give him
20 on the ventilator by the pulse ox primarily,
21 because that's sustained and that's being done
22 all the time, not intermittently. The main
23 reason for those --

0063

1 PCO2.

156 2 Q Would it be concerning to you that if there was
3 a trend apparent where there was -- the FiO2
4 requirement was trending higher, and if PO2 was
5 trending lower, would that be concerning to you?
6 A It would be concerning to me.

157 7 Q Why?

8 A If the FiO2 is going higher and the sats are
9 going lower?

158 10 Q Yes.

11 A It means he's getting worse, or it may mean that
12 he has a pneumothorax.

159 13 Q Would that cause a patient, in your mind, to be
14 more unstable if after he was ventilated, he was
15 showing a worsening O2 by gases?

16 A By gases?

160 17 Q Yes.

18 A It was one gas. I think the next one was
19 probably okay. I mean, it's one gas. We're
20 looking at the whole baby. I think at noon he
21 has a PO2 of 64 and nothing was done differently
22 on the ventilator at that time. It does go up
23 and down. Plus, that gas may have been a
24 capillary gas for the reason I mentioned to you
25 before.

0064

1 We were checking the electrolytes again at
2 that time, and wanted to make sure we did not
3 get a diluted from the UAC, from the arterial
4 line.

161 5 Q Going back to the administration of surfactant
6 in a 35-weeker. You feel, Doctor, that there
7 was not an established, recognized indication
8 for the administration of surfactant to a
9 35-weeker with RDS who is assisted with

10 mechanical ventilation?

11 A Who weighs five pounds, one ounce in 1999,
12 correct.

162 13 Q Does surfactant improve oxygenation and improve
14 ventilation in premature infants with RDS?

15 A Yes.

163 16 Q And that's true whether you're talking about a
17 three-pounder, a four-pounder, or a
18 five-pounder?

19 A Are you asking me what I believe? In 1999 we
20 had no studies to tell us that. But do I think
21 it does? Today I definitely do. Back then I
22 think it did as well.

164 23 Q Does surfactant reduce the rate of
24 pneumothoraces and pulmonary interstitial
25 emphysema in premature infants with RDS?

0065

1 A In babies between 600 grams and about 1750,
2 which is the data that we had in 1999, that is
3 correct. In babies that are more than 1750, we
4 had no data to suggest that, using Surfactant.
5 And we also have no data to date that says it
6 improves CNS status.

165 7 Q I understand that it's necessary for the child
8 to be intubated to administer surfactant.

9 A Correct.

166 10 Q How many doses are needed of surfactant for it
11 to become effective, and what time period in
12 terms of hours does there need to be between
13 each dose?

14 A It depends on which surfactant you're giving.
15 If you're giving a natural surfactant, like this
16 was Surfactant, which has protein, I think, B and
17 C in there, it's a natural surfactant, you see
18 the improvement usually within 15 or 20 minutes.

19 And you have to be wary of that because
20 you have to start lowering the respirator
21 settings. If you use something like Exosurf,
22 which most people don't use anymore, it takes
23 quite a while before you see the effect.

24 The dosing depends on what you're using.
25 If you use Exosurf, you maybe only give one dose

0066

1 and not get near the second dose. If you use
2 Surfactant, some people give it every six hours,
3 some people give it every 12 hours. Some people
4 in '99 gave one dose and saw how the infant
5 behaved and then dosed accordingly. It is very
6 variable, especially in 1999.

167 7 Q Looking retrospectively, Doctor, do you think
8 it's likely that had there been earlier
9 administration of surfactant that it could have
10 possibly prevented the development of his
11 bilateral pneumothoraces?

12 A Thoraxes?

168 13 Q Yes.

14 A Pneumothoraxes?

169 15 Q Yes.

16 A He had a hyperlucent area on his x-ray at Parma,
17 which means that he had an area of his lung that
18 was hyperinflated. That may have -- that may
19 have predisposed him to developing
20 pneumothoraxes. In other words, it may have

21 just have been hyaline membrane on a ventilator.
 22 On the other hand, he was a premature baby
 23 on a ventilator and they have higher chances of
 24 getting air traps. If I gave him surfactant, it
 25 may have cut down the incidence of

0067

1 pneumothoraxes. But --

170 2 Q That's all I asked you.

3 A But it was not the standard of care in '99.

4 Also, in this particular baby, when we
 5 gave it, it did not make him better. So had I
 6 given it earlier, I don't know if it would have
 7 made him better anyway.

171 8 Q How many doses did you give him?

9 A One dose. But --

172 10 Q Did you ever give him a second -- excuse me.

11 A No. We gave him one dose.

173 12 Q You gave him one dose. And it's your opinion it
 13 didn't make him any better?

14 A It did not make him any better.

174 15 Q Was it recognized in the field of neonatology in
 16 August of 1999 that moderate to severe RDS, if
 17 severe enough to cause pneumothorax or bilateral
 18 pneumothoraxes, could lead to ischemic brain
 19 injury?

20 A If you have a pneumothorax that is severe and
 21 you ignore it, yeah, it can cause brain damage.
 22 It can cause decreased cardiac output. But if
 23 you pick up when it's not severe and you pick it
 24 up quickly, it shouldn't.

25 And that's probably why in the studies

0068

1 they give surfactants to babies. One group gets
 2 it, one group doesn't. They have not been able
 3 to show any difference in brain problems
 4 subsequently.

5 The studies to date don't show any
 6 improvement in brain function on babies who do
 7 get surfactant versus those who don't. They do
 8 show less air traps, they do show less death in
 9 the smaller babies.

175 10 Q I didn't hear the end of that.

11 A They do show less death in the smaller babies.

12 In the larger babies, like the 14-,
 13 1,500-grammers, there is no difference in
 14 mortality. In all babies there's no difference
 15 in brain problems in the babies who get it.
 16 That's today's data as well.

176 17 Q All right. Let's see if we can agree on this:
 18 that it was full-blown in the field of
 19 neonatology in 1999 that pneumothoraxes, or
 20 thoraces, if unaddressed or untreated, can cause
 21 ischemic brain injury --

22 MR. BULLOCH: Objection.

177 23 Q -- in a neonate.

24 A It was known probably in 1875, I guess. I mean,
 25 if you don't treat them and if they're severe.

0069

1 There are pneumothoraxes that we sometimes don't
 2 drain with chest tubes if they're mild and not
 3 causing any cardiac compromise.

4 If you have a child on a ventilator like
 5 Matthew and the sats are dropping and it

6 transilluminates by our high-power light and
7 you're concerned that that pneumo is obviously
8 causing his ability to oxygenate, you're going
9 to drain it.

10 If you did not drain it and then the blood
11 pressure starts going down, the heart rate
12 starts going down, I would have concern about
13 whether or not we caused any damage. If we did
14 not drain it and he developed a metabolic
15 acidosis, that could be a sign that he's not
16 giving enough oxygen to his body and that also
17 could affect brain.

18 We didn't have that with Matthew. We had
19 stable blood pressures, good blood gases and
20 good urine output and same neurological findings
21 after the event.

178 22 Q It seems to me that you are saying that one
23 needs acidosis, evidence of acidosis, to know
24 whether or not the pneumothoraces could be
25 responsible for an ischemic brain injury.

0070

1 Is that what you're saying?

2 A It's one of many things, because if you don't
3 give adequate oxygen to your body, your body
4 starts making acids. So having no metabolic
5 acidosis, it's really hard to understand how he
6 had a period of lack of oxygen delivery.

7 And when you have lack of oxygen delivery
8 and develop an acidosis, it's not like it goes
9 away in three minutes, it hangs around there for
10 a while.

179 11 Q Okay. Let me ask you this: Do you appreciate
12 that with an ischemic process you will less
13 likely have an acidotic situation as compared to
14 a hypoxic situation in a newborn?

15 A No. I mean, it's all degree. When you're
16 ischemic it means you don't have enough blood
17 flow delivering stuff to your body. That stuff
18 is oxygen and glucose. So if you're ischemic,
19 that tells me you're not pumping enough blood to
20 the body, that means you're not delivering
21 oxygen. They go hand in hand.

22 To have ischemia without delivering -- to
23 have an ischemic part of your body and you're
24 delivering perfectly normal oxygen doesn't
25 compute, doesn't fit. If you're ischemic,

0071

1 you're not delivering enough blood. Blood
2 carries oxygen.

180 3 Q In the general concept of an asphyxiated
4 newborn, when you're talking about the child was
5 hypoxic in utero, you would expect to see
6 evidence of acidosis at birth, correct?

7 A Correct.

181 8 Q However, if there was a sudden ischemic process
9 to the newborn, you wouldn't necessarily see
10 acidosis?

11 MR. BULLOCH: Objection. I'm
12 not sure, when did this happen? When did
13 this --

14 THE WITNESS: I think it's a
15 hypothetical.

16 MR. BULLOCH: Okay. Go ahead

17 if you can answer.

18 THE WITNESS: I don't know if
19 I can.

20 MR. BULLOCH: Then don't
21 answer it. Ask him to ask you another question.
22 A Ischemia means lack of blood flow. If you don't
23 have blood flow, you don't have glucose, you
24 don't have oxygen going there. You're also not
25 carrying away some of the evil metabolites.

0072 1 It's not healthy to a cell.

2 And if you're ischemic, usually you'll be
3 developing cellular and eventually systematic
4 acidosis.

182 5 Q Is it safe for me to assume that prior to
6 Matthew Wagoner, you had never administered
7 surfactant to a 35-weeker?

8 A No. I probably did. I probably did.

183 9 Q Do you think it's likely that you administered
10 surfactant to a neonate that weighed five pounds
11 prior to Matthew Wagoner?

12 A It depends upon how severe the infant was. I
13 can't -- I don't have all my records here.

14 The odds are very high that with the
15 number of babies that we saw that I probably
16 administered surfactant to babies with meconium
17 staining, pulmonary hypertension, or pneumonia.
18 These are all babies, and probably large babies
19 similar to Matthew, that were having a breathing
20 problem.

21 But I would not be giving it to them
22 unless it was real severe. I'd give them a
23 trial to see how well they behaved on
24 conventional respirators and conventional
25 settings.

0073 2 you have ever administered surfactant for RDS
3 to?

4 MR. BULLOCH: Objection.

5 Doctor, I'm going to ask you not to answer
6 that unless you have a good idea. I don't want
7 you to guess.

8 A It's not a guess, I mean. We have babies that
9 are meconium, meconium hypertension, that may
10 have been 41, 42 weeks' gestation, and we could
11 have very possibly given surfactant to that type
12 of baby. But we would not do it right off the
13 bat; we would try to do conventional ventilation
14 before.

185 15 Q At the time that you administered surfactant to
16 this child, did you tell the family that it's
17 unproven whether or not it's going to work and
18 there may be some harmful effects?

19 MR. BULLOCH: Asked and
20 answered.

21 A At the time that it was done, I did not -- I'm
22 sure I did not, because it was late at night and
23 it was happening rather quickly.

24 The following morning I would have
25 reviewed with the mother what happened, and the

0074 1 father, because I spoke with them pretty much
2 every day.

186 3 Q Can you estimate for me how long Matthew had
4 this right pneumothorax prior to the placement
5 of the chest tube?

6 A I can give you a guess.

187 7 Q What's your best estimate?

8 A Let me find the page. I don't want to be
9 repeating things.

10 I know at 1740 there was a drop of sat,
11 they transilluminated at that time and
12 everything was negative.

13 However, when you look at the saturations
14 after 1740, yes, at 1700, 1800 -- this is on the
15 25th? Following morning, yeah -- you see that
16 his FiO2 went up to a hundred percent. Sats are
17 okay. The respirator settings are all the same,
18 pulse, blood pressure is okay.

19 So he could have had a small pneumo at
20 that time, but it wasn't affecting cardiac
21 because -- because his pulse is picking up and
22 his blood pressure is good.

23 He may have had the beginning of it at
24 that point but, obviously, it didn't cause a
25 problem until about 1845, when his sats went

0075

1 down to 70 and they tried to bag him. And he
2 came up, actually, with bagging, which means
3 higher pressure. But at that time the
4 transillumination was positive and it was
5 negative. So I assume it was getting bigger.

6 So the answer to your question is,
7 sometime around 1700, 1800, he may have had the
8 early signs of it, maybe, but it wasn't
9 bothersome until 1800, because that's when he
10 was transillumination positive.

188 11 Q And that was on the right side?

12 A On the right side.

189 13 Q And does it reflect whether or not they bothered
14 to do transillumination on the left side?

15 A They would have done both sides. It was
16 routine. They did it -- at 1740 it says
17 "bilateral" -- "transilluminate bilateral."
18 Right positive. Left negative." They would
19 have done both.

20 Again, they checked it later. Again, they
21 were doing it pretty much continuously, because,
22 again, it transilluminated positive around 2225.

23 And because of that, even though he was
24 relatively stable and the sats are okay, they
25 put a chest tube on the left side. They were

0076

1 waiting for it, because they also knew there was
2 a pneumomediastinum on the x-ray that was done
3 after the first chest tube.

190 4 Q Would you repeat that, please? They were
5 waiting for what?

6 A When the first chest tube was put in, they --
7 before it was put in they diagnosed with
8 transillumination. They did not get an x-ray,
9 because it takes too long and the baby had sats
10 that were low and you wanted to fix that. So
11 they put the chest tube in, sats came up, they
12 got an x-ray.

13 When the x-ray was obtained, the right

14 pneumo was pretty much gone or wasn't there.
 15 There was a pneumomediastinum, and there was no
 16 obvious pneumothorax on the left.

17 But with a substantial pneumomediastinum
 18 you have to worry about new air traps
 19 developing, just like the pneumothorax on the
 20 right. You have to worry about other pneumos.
 21 So they kept transilluminating.

22 And the next one they picked up before he
 23 had symptoms, just because he transilluminated
 24 positive on the left. That's why the second
 25 chest tube was put in.

0077

1 Then there was a follow-up x-ray which
 2 showed both pneumos are pretty much okay, but
 3 there's a persistent pneumomediastinum.

191 4 Q Wasn't there a radiology report describing
 5 bilateral pneumothorax?

6 A There was. And I'm not so sure he's correct or
 7 she's correct.

192 8 Q Okay. You take issue with --

9 A There may have been -- you know, when you have a
 10 pneumomediastinum that large, it's sometimes
 11 hard to distinguish as a pneumothorax or a
 12 pneumomediastinum because they overlap. The
 13 black area overlaps.

193 14 Q Assuming that that was true, that there was
 15 bilateral pneumothorax at the time of this chest
 16 film on the 25th at roughly 7:26 p.m., this
 17 child's left pneumothorax went unaddressed for
 18 roughly four hours; is that correct?

19 A I think your -- I'm confused now. Basically --

194 20 Q I'm asking you to assume, Doctor --

21 A The question --

195 22 Q Listen to me. I'm asking you to assume that the
 23 radiology report is accurate and it reflects
 24 bilateral pneumothorax done on 8-25 at 7:26 p.m.

25 A It did not go unaddressed. They were constantly

0078

1 monitoring his blood pressure, his gases, his
 2 saturation, and they're transilluminating him.

3 So if transillumination is negative and
 4 the saturations are okay and the blood pressure
 5 is okay, you're not necessarily going to put a
 6 chest tube in. It's either a very small pneumo
 7 or a nonexistent pneumo. But you worry about
 8 it.

9 So the minute you get something that
 10 allows you to put a chest tube in, like
 11 transillumination positive, then you put it in.

196 12 Q Explain to me what the setup was at Fairview in
 13 '99 relative to a chest film on a neonate. Who
 14 took it, who read it, and how was that
 15 communicated to you if this was other than
 16 during working hours?

17 A We rely mostly on the nurse -- on the house
 18 physician and ourselves to read those x-rays.
 19 The reason for that is radiology, although it
 20 may have been available all the time, would not
 21 necessarily read them instantaneously, and we
 22 had to see those x-rays.

23 Neonatologists and the house physicians
 24 who put chest tubes in are more familiar with

25 tube position and chest tube position and

0079

1 getting their questions answered by looking at
2 the films themselves. When those x-rays are
3 taken they are brought up for us to review. We
4 reviewed them.

5 Then they are sent out and the radiologist
6 was like quality control and would review them,
7 and if there was something abnormal would
8 frequently give us a call. But we relied on us
9 looking at them, neonatologists and the house
10 physician.

197 11 Q Right. But --

12 A I wouldn't want to wait -- I wouldn't want to
13 wait for the official reading. It could take an
14 hour or two hours.

198 15 Q Okay. I hear you. I just want to get this
16 clarified.

17 Was there, then, a radiologist in house
18 during off hours in '99?

19 A I don't know. The way we could tell is by
20 looking at the report and see when he read it.

199 21 Q Okay. And did you have the capability by way of
22 this technology to have the film sent to your
23 home so you could visualize it, have the image?

24 A No.

0080

1 MR. GOLDWASSER: Off the record.

2 (Discussion held off the record.)

3 (Thereupon, Mr. Goldwasser and Mr. Torgerson
4 left the deposition.)

5 BY MR. BECKER:

201 6 Q When surfactant was ultimately administered to
7 Matthew, did he in fact have any adverse
8 reactions?

9 A He may have blown another pneumo after it.
10 Well, he did blow a pneumo after it, actually.
11 It got worse. Now it's transilluminated
12 positive where it wasn't transilluminated
13 positive prior. So I don't think he liked it.

14 MR. BULLOCH: Did he answer
15 the question?

16 A I answered. I'm just looking for --

202 17 Q Any other complications?

18 A Well, I'm going to look for the actual flow
19 sheet here. After he got it he required higher
20 pressures, higher FiO2. But let me look on the
21 next page. I've got to find it.

22 Yes, he required higher FiO2 and higher
23 pressures, and it wasn't until -- actually,
24 higher pressures. He did not like it. Did it
25 make him worse? I don't know. It may have.

0081

1 And that sometimes happens with some of the
2 infants.

203 3 Q Doctor, I want to talk a little bit about
4 medical negligence claims against you alleging
5 medical negligence.

6 Any of them involve or allege --

7 MR. BECKER: And, John, you
8 have a continuing objection to this.

204 9 Q -- inappropriate management of RDS?

10 A I don't think I have --

11 MR. BULLOCH: Just show a
 12 continuing objection.
 13 But go ahead and answer.
 14 A I don't think -- I don't have any cases against
 15 me.
 16 Q In the past, ever?
 17 A In the past?
 18 Q Ever.
 19 A I was only sued once and that had nothing to do
 20 with this. It was 20-some years ago.
 21 Q So the answer to my question would be no?
 22 A No.
 23 Q Okay. Have you ever acted as an expert in a
 24 medical-legal case?
 25 A Yes.
 0082 expert, did it involve the subject matter of
 2 RDS, surfactant therapy, anything along those
 3 lines?
 4 A I don't think so. I don't think so.
 5 210 6 Q What was the reason you left Fairview General
 7 Hospital?
 8 MR. BULLOCH: Objection.
 9 A Hospital politics.
 10 211 10 Q Please be more specific.
 11 MR. BULLOCH: Well, I'm going
 12 to instruct him to not answer anything that
 13 might be the subject of any type of agreement
 14 that you entered into -- and I don't know that
 15 you did -- or anything that would be protected
 16 by attorney-client privilege.
 17 MR. BECKER: Well, John, I
 18 have an absolute right to inquire as to why he
 19 left his institution, where he had been at for a
 20 number of years, and moved to Arizona. I have
 21 an absolute right to inquire into that. If
 22 you're going to direct him not to answer, then
 23 we're going to go to the court for it.
 24 MR. BULLOCH: I'm not
 25 instructing him not to answer. I'm instructing
 0083 him not to answer anything that might be subject
 1 to attorney-client privilege. And I guess
 2 that's it.
 3 A I think the best way to answer that is the
 4 Cleveland Clinic acquired Fairview Hospital and
 5 they had certain aims of what they wished me to
 6 do which I had no desires to do. Some of which
 7 was closing down our unit, basically, in terms
 8 of sending babies out to the main mother ship
 9 and basically put down the unit that we worked
 10 hard, nursing and I worked hard, to build. And
 11 there were constraints that were being put on me
 12 which were not agreeable.
 13 MR. BECKER: Off the record.
 14 (Off the record.)
 15 BY MR. BECKER:
 16 212 17 Q Just a couple more questions for you, Doctor.
 17 In general, relative to your concern about
 18 adverse reactions with the administration of
 19 surfactant, are you more concerned in smaller,
 20 more premature babies than in larger, more
 21 mature babies?
 22

23 A I can't say that because we don't have those
 24 great studies about big babies and little babies
 25 and side effects.

0084

2 A I would assume they're similar, but I don't
 3 know.

214 4 Q And speaking about double-blind studies, have
 5 there ever been double-blind studies relative to
 6 surfactant administration?

7 A Yes. Lots of them.

215 8 Q Okay.

9 A At least -- there's lots of them.

216 10 Q And you're saying there's never been a
 11 double-blind study of children this large or of
 12 this birth weight or this gestational age?

13 MR. BULLOCH: Objection. I
 14 think he has answered this.

15 But go ahead.

16 A Using Survanta and other natural surfactants,
 17 correct.

18 MR. BULLOCH: Except, just to
 19 clarify --

20 A For --

21 MR. BULLOCH: He didn't ask
 22 that.

217 23 Q Why do you think they have not done double-blind
 24 studies with babies this large?

25 A It may be a factor of numbers, and it's the same

0085

1 reason why we give, like, steroids to mothers.
 2 We use it between 24 and 34 weeks' gestation in
 3 utero. That's where the larger number of those
 4 infants lie, and that's where they've shown good
 5 effect, and that's where most of the hyaline
 6 membrane is.

7 It's really hard to get pure hyaline
 8 membrane disease, 35-weekers, five-pounders, get
 9 a lot of them in one study. You'd have to have
 10 a humongous study to do that. That may be the
 11 reason.

12 But the other reason is most hyaline
 13 membrane is in smaller babies.

14 MR. BECKER: That's it,
 15 Doctor. Thank you for your time.

16 MR. BULLOCH: We will not
 17 waive signature. I'd like to have the doctor
 18 take a look at this transcript before he is
 19 given an opportunity to sign it.

20

21

22

23

24

25

0086

1 THE STATE OF OHIO,) SS:
 2 COUNTY OF CUYAHOGA.)

3 I, Charles A. Cady, a Notary Public within
 4 and for the State of Ohio, duly commissioned and
 5 qualified, do hereby certify that the
 6 within-named witness, Lawrence D. Lilien, M.D.,
 7 was first duly sworn to testify the truth, the
 whole truth, and nothing but the truth in the

Lilien040804

cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed on a computer/printer, and that the foregoing is a true and correct transcript of the testimony so given by him, as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified. I do further certify that I am not a relative, counsel, or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my
hand and affixed my seal of office at Cleveland,
Ohio, on this day of April, 2004.

Charles A. Cady, Notary Public
within and for the State of Ohio
My Commission expires November 3, 2004.

THE STATE OF)
COUNTY OF) SS:

Before me, a Notary Public in and for said state and county, personally appeared the above-named Lawrence D. Lilien, M.D., who acknowledged that he did sign the foregoing transcript and that the same is a true and correct transcript of the testimony so given.

IN TESTIMONY WHEREOF, I have hereunto
affixed my name and official seal at
this day
of , 2004.

LAWRENCE LILIEN

Notary Public

My Commission expires: