

THE STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

IN THE COURT OF COMMON PLEAS

DOC. 263

FRANCES SMITH,)
Administratrix of the)
Estate of Alvester Smith,))
Sr., Deceased,)

Plaintiff,)

v.)

Case No. 100877

ST. LUKE'S HOSPITAL,)
et al.,)

Defendants,)

- - -

Deposition of EDWARD D. LIN, a witness
herein, taken by the Plaintiff as if upon
cross-examination before Marguerite A. Sandly,
RPR/CM and Notary Public within and for the State
of Ohio, at the office of Charles Kampinski, Esq.,
1530 Standard Building, Cleveland, Ohio, on Monday,
the 9th day of November, 1987, commencing at
2:10 p.m., pursuant to notice and agreement of
counsel.

- - -



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

1 APPEARANCES:

2 Charles Kampinski Co., L.P.A.,
3 By: Charles Kampinski, Esq.,
4 and
5 Christopher M. Mellino, Esq.,

6 On behalf of the Plaintiff.

7 Reminger & Reminger Co., L.P.A.,
8 By: Marc W. Groedel, Esq.,

9 On behalf of Defendants
10 Timothy L. Stephens, Jr., M.D.
11 and Curtis W. Smith, M.D.

12 Arter & Hadden,
13 By: Rita A. Bartnik, Esq.,

14 On behalf of Defendant
15 St. Luke's Hospital.

16 Jacobson, Maynard, Tuschman
17 & Kalur Co., L.P.A.,
18 By: Thomas H. Terry, III, Esq.,

19 On behalf of Defendant
20 S.J. Lee, M.D.

21 Kitchen, Messner & Deery,
22 By: Eugene B. Meador, Esq.,

23 On behalf of Defendant Agnes Sims, R.N.

24 - - -

25 STIPULATIONS

 It is stipulated by and between counsel
for the respective parties that this deposition
may be taken in stenotypy by Marguerite A. Sandly;
that her stenotype notes may be subsequently
transcribed in the absence of the witness; and
that all requirements of the Ohio Rules of Civil
Procedure with regard to notice of time and place
of taking this deposition are waived.

 - - -

1 EDWARD D. LIN,
2 a witness herein, called by the Plaintiff for the
3 purpose of cross-examination as provided by the
4 Ohio Rules of Civil Procedure, being by me first
5 duly sworn, as hereinafter certified, deposes and
6 says as follows:

7 CROSS-EXAMINATION

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name, please.

10 A. My name is Edward Daniel Lin, L-i-n.

11 Q. Where do you live, sir?

12 A. I live at 556 Roxbury, R-o-x-b-u-r-y,
13 Avenue, N.W., in Massillon, Ohio, 44646.

14 Q. All right. Doctor, I am going to ask
15 you a number of questions this afternoon. If you
16 don't understand any of the questions, please tell
17 me and I will be happy to rephrase them. When you
18 respond to my questions, please do so verbally.
19 She is going to be taking down everything we say
20 and she can't take down a nod of your head. All
21 right?

22 A. I understand.

23 Q. How old are you, Doctor?

24 A. I am 34.

25 Q. Date of birth?

1 A. April 19th, 1953.

2 Q. Where were you born?

3 A. I was born in Taiwan.

4 Q. In what city?

5 A. Pingtung, P-i-n-g-t-u-n-g.

6 Q. And how long did you live there?

7 A. For eight years.

8 Q. And then did you come to the United
9 States, or where did you go?

10 A. I went to Malaysia.

11 Q. Malaysia. And how long were you there?

12 A. Eight years.

13 Q. Okay. And that would bring us to age 16?

14 A. Right.

15 Q. And then where did you go after that?

16 A. Then I came to the United States.

17 Q. And where in the United States?

18 A. I went to Voorheesville,

19 V-o-o-r-h-e-e-s-v-i-l-l-e, New York. That's a
20 suburb of Albany.

21 Q. And how long did you live there, sir?

22 A. Approximately four months.

23 Q. And then where did you go?

24 A. I went to the State University of
25 New York at Fredonia, F-r-e-d-o-n-i-a.

1 Q. Spell that again. I'm sorry. F-r-e-e-d --
2 A. O-n-i-a. Fredonia.
3 Q. And that's a state university?
4 A. That is correct.
5 Q. All right. And that's when you were how
6 old?
7 A. 16.
8 Q. Is that a high school, college; what is
9 that?
10 A. That's a state university in New York.
11 Q. So it is a college?
12 A. Yes.
13 Q. Okay. And how is it that you went to
14 college at age 16?
15 A. I took the SATs and I scored high. I
16 applied in December of 1969. I was accepted for
17 January of 1970.
18 Q. Okay. What was your educational
19 background before that? I mean what kind of
20 education did you receive in Malaysia prior to
21 coming to the United States?
22 A. I finished ninth grade in Malaysia.
23 Q. So if I understand correctly, you didn't,
24 or you did not take the equivalent of tenth,
25 eleventh and twelfth grade; is that correct?

1 A. That is correct.

2 Q. And you went to Fredonia, or the state
3 university in Fredonia. And how long did you go
4 there, sir?

5 A. Four years.

6 Q. And you graduated in?

7 A. December of 1973.

8 Q. At which time you were 20 years old,
9 correct?

10 A. I presume so, whatever it calculates out
11 to be.

12 Q. Well, if I am wrong, you tell me.

13 A. Yes, that is right.

14 Q. What did you do after that?

15 A. I received a research fellowship at the
16 Roswell Park Memorial Institute.

17 Q. First of all, what was your major in
18 college?

19 A. Biology.

20 Q. Biology?

21 A. Yes.

22 Q. That brought you to Massillon here?

23 A. Yes.

24 Q. A research fellowship, I'm sorry, where?

25 A. At Roswell Park Memorial Institute.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Roswell Park?

A. R-o-s-w-e-l-l, Park, P-a-r-k, Memorial Institute. It was a division of the graduate school of the State University of New York at Buffalo.

Q. And what was your fellowship in?

A. Experimental pathology.

Q. What is experimental pathology?

A. It's a very broad field in which you can investigate all kinds of diseases, processes, methods of preventing them and so on, or treating them, as the case ight be.

Q. How long was your fellowship for?

A. Three years.

Q. That would bring us up to when, 1977?

A. Right.

Q. Do you have a CV, by the way?

. yes, I do. Do you mind if I refer to it?

Q. Yes, I'd like to see it, if you don't mind.

MR. GROEDEL: I think I attached it to my arbitration brochure.

Q. (BY MR. KAMPINSKI) Okay. Is this an extra copy?

A. No. That's what I was going to look at.

1. Q. Okay. I'm sorry. You were going to
2 look at that to determine the years that you did
3 the fellowship.

4 A. Right, just so I don't make any mistakes.

5 Q. What year?

6 A. What was your question, please?

7 Q. What years were the fellowship?

8 A. 1974 through '76.

3 Q. What did you do after that?

13 A. I then went to the University of
11 Osteopathic Medicine & Health Sciences, which was
12 in Des Moines, Iowa.

10 Q. And how long were you there?

14 A. From 1977 to 1980.

15 Q. Okay. And then what did you do after
16 that?

17 A. I then did a general medical internship
18 at the Middlefield Memorial Hospital in Buffalo,
19 New York. And that's affiliated with the State
20 University of New York, Buffalo School of Medicine.

21 Q. How long was that?

22 A. That was for one year.

23 Q. And after that?

24 A. After that I went to -- I was accepted
25 into the University's diagnostic radiology

1 residency.

2 Q. Where at?

3 A. That's based in Buffalo, New York.

4 Q. How long did you do that?

5 /

6 Q. Is that like a residency?

7 A. Yes. I was accepted into the residency,
8 but I had to take a leave of absence.

9 Q. Why was that?

10 A. For financial reasons.

11 Q. Okay. And what did you do after that?

12 A. I then worked for six months full time
13 as an emergency physician.

14 Q. Where at?

15 A. Primarily at the Middlefield Memorial
16 Hospital in Buffalo.

17 Q. Okay. And this is what, 1982 or '83,
18 that we're talking about?

19 A. 1982, right.

20 Q. And this was as an emergency room doctor?

21 A. Yes.

22 Q. And what did you do after that?

23 A. I then went to the Yale University
24 School of Medicine where I was accepted into the
25 anesthesiology residency.

1 Q. Why didn't you go back to the radiology
2 residency?

3 A. Because I discovered that I was even
4 more interested in anesthesiology than in
5 radiology.

6 Q. Okay. And how long was your residency?

7 A. It was two years.

8 Q. Till 1984?

9 A. That is correct.

10 Q. And then what did you do?

11 A. I then moved to Massillon, Ohio, where I
12 have been in private practice since.

13 Q. I notice that you are listed in Who's
14 Who, a couple Who's Who; is that correct? Who's
15 Who in the midwest and Who's Who among students in
16 American Institutions and Colleges, right?

17 A. That is correct.

18 Q. How did you get into Who's Who?

19 A. I presume someone nominated me.

20 Q. And why, did you get a letter from them?

21 A. Yes.

22 Q. And then what did you do, have to pay
23 some kind of fee to get listed?

24 A. No. I did not have to pay any fee
25 whatsoever.

1 Q. You had to fill it out?

2 A. Yes. They asked for some bibliographic
3 information.

4 Q. And then did you buy a volume?

5 A. No, I never did.

6 Q. Who are you in practice with in
7 Massillon?

8 A. Dr. Louis A. Kovacs, K-O-V-A-C-S, and
9 Dr. Roger Vincent.

10 Q. Are there different schools of training
11 for an osteopath? Are there people who believe in
12 holistic medicine as opposed to different kinds of
13 treatment?

14 A. There are people who believe in holistic
15 medicine, whether they are osteopathic physicians
16 or allopathic physicians.

17 Q. Yes.

18 A. I am not sure what your definition of
19 holistic medicine is. We believe that whenever
20 possible we should take into account the role that
21 the muscles and bones in our body play in our
22 overall well-being and also whenever appropriate
23 allow the body's own ability to regenerate itself
24 in time of illness or disease.

25 Our training is essentially the same as

1 that of the M.D.s, with the exception that we also
2 learn manipulation.

3 Q. You do not have an M.D. degree, do you?

4 A. No, I do not.

5 Q. Is it necessary to get a state medical
6 degree or take the state medical test to practice
7 medicine in Ohio?

8 A. Certainly. The requirements for
9 licensure are exactly the same as that for an M.D.
10 And, for example, when I was at Yale, all my other
11 fellow residents were M.D.s.

12 Q. Do you take the Boards just as M.D.s do --

13 A. Yes.

14 Q. -- for certification?

15 A. Yes.

15 Q. Have you done that?

17 A. I have taken the written exam once.

18 Q. Did you pass?

19 A. No, I did not.

20 Q. How about the orals?

21 A. You have to pass the written first.

22 Q. I see. When did you take that?

23 A. 1985, July.

24 Q. Why haven't you taken it again?

25 A. I have been very busy setting up a pain

1 service at my hospital and involved with some
2 research there and that has taken up the bulk of
3 my time. I intend to take it next year.

4 Q. How is it that you got involved in this
5 case, sir?

6 A. I was requested by Mr. Groedel to review
7 the case.

8 Q. And how is it that he came to you? Have
9 you testified for him or his firm before?

10 A. No.

11 Q. Have you reviewed cases for other people
12 before?

13 A. I have reviewed cases for another
14 attorney, but I have never testified for anyone.

15 Q. Who is that?

16 A. Mr. Gary Banas.

17 Q. Anybody else?

18 A. No.

19 Q. How is it that Mr. Groedel got your name,
20 do you know?

21 A. I think you'd have to ask him.

22 Q. I am asking if you know. If you don't
23 know, you don't know.

24 A. I don't believe I do.

25 Q. Have you, yourself, ever been involved

1 in a lawsuit, sir?

2 A. Yes, I have.

3 Q. And when was that?

4 A. I would not be able to give you the
5 specific time.

6 Q. This year, last year, the year before
7 that; when was it?

8 A. Well, there is one case that is pending,
9 which involves an alleged dental injury.

10 Q. Alleged what?

11 A. Dental injury.

12 Q. Why are you involved in that case?

13 A. I was the anesthesiologist.

14 Q. Who are you being represented by?

15 A. Mr. Banas.

16 Q. What firm is he with?

17 A. Buckingham, Doolittle & Burroughs.

18 Q. Any other lawsuits?

19 A. There was one last year whereby I saw a
20 patient preoperatively. I canceled the surgery
21 because I had determined that the patient had
22 recently had a heart attack and the patient had,
23 in the process of the cardiology work-up,
24 sustained a fatal arrest about two or three days
25 later while in the hospital. The case was dropped

1 subsequently.

2 Q. Where was that case filed?

3 A. I beg your pardon?

4 Q. Where was that case filed?

5 A. I presume in Stark County.

6 Q. And who represented you in that case?

7 A. Mr. Banas.

8 Q. And where is the current case pending at?

9 A. I am not sure that I know.

10 Q. Stark County, Cuyahoga County?

11 A. Probably Stark County.

12 Q. Any other suits?

13 A. There is one other one. This one dates
14 back to, I have to check the date, I believe 1982
15 when I was working as an ER physician. Did you
16 want me to tell you about the case?

17 Q. Please.

18 A. Okay. It was a mobile obese diabetic
19 male that I saw in the emergency room who
20 complained of having, quote, passed out, unquote,
21 at home.

22 When I saw him in the emergency room he
23 was alert and oriented. The physical examination
24 was not remarkable for someone of his habitus. He
25 has some borderline abnormal laboratories.

1 Because I was not familiar with this patient, I
2 spoke with the family physician who was taking
3 care of this patient and I recommended that this
4 patient be admitted to the hospital and he agreed.
5 So I made arrangements for the patient to be
6 admitted, and my care of that patient terminated
7 at that point.

8 I was unaware of anything else that
9 happened until I received a notice that I was
10 being sued. And I found out that later on on that
11 day the family physician discharged the patient to
12 be followed in his office. And on the following
13 day the patient sustained a cardiac arrest and was
14 brought back to the emergency room dead on arrival.

15 Q. Where was that lawsuit at?

16 A. It's in Buffalo, New York.

17 Q. Still pending?

18 A. Yes.

19 Q. Who are you being represented by on that
20 case?

21 A. Daniel Roach.

22 Q. Have you been deposed in any of these
23 cases?

24 A. I was deposed for this very last case
25 that I described.

1 Q. The Buffalo case?

2 A. That's the only case for which I have
3 been deposed.

4 Q. Any other lawsuits, sir?

5 A. No.

6 Q. I take it in the case that was dropped
7 in Stark County, you believed it was appropriate
8 based on your examination of the patient to cancel
9 surgery once you became aware of the fact that he
10 had had a prior MI?

11 A. Yes.

12 Q. And I take it in the case that you are
13 involved in in Buffalo, it's your position that
14 you are not responsible since you didn't have
15 primary active care of that patient?

16 A. Absolutely. And not only that, I made
17 arrangements for the patient to be admitted to the
18 hospital.

19 Q. Yes. And was it the family doctor in
20 that situation who was the attending?

21 A. Yes.

22 Q. So the attending was then the
23 responsible party for his care?

24 A. Yes. I might add that even the case of
25 the tooth injury --

1 Q. Yes.

2 A. -- pre-existing x-rays, dental x-rays
3 showed that the patient had extensive erosion of
4 his bones and the plaintiff does not have a
5 complaining fact and there has never been any
6 sediment of any amount.

7 Q. I am sure you can tell that to the jury.

8 A. Well --

9 Q. Who is the plaintiff's attorney in that
10 case?

11 A. Which case, sir?

12 Q. The one that's pending in Stark County.

13 A. Elk & Elk.

14 Q. And who are the plaintiff's attorneys in
15 the Buffalo case?

16 A. I believe the name is Wexler, Mark
17 Wexler.

18 Q. And who was the attorney in the other
19 case that was dropped?

20 A. I am not sure. The name Runfolia kind of --
21 you know, Runfolia & Bearfield. Is there such a
22 law firm? I am not sure.

23 Q. I am not either, but I will find out for
24 you.

25 Okay. What did you review, Doctor,

1 prior to giving a report in this case?

2 A. I reviewed the hospital records of
3 Mr. Smith, both for his --

4 Q. Well, I have got your report of June 3rd
5 and I can see what you put down there in terms of
6 having reviewed. Is there anything in addition to
7 that that you looked at?

8 A. Since then I have reviewed the
9 deposition of Dr. Downs, John B. Downs.

10 Q. Okay.

11 A. A deposition by Dr. --

12 Q. Well, you had Downs' deposition?

13 A. Well, at the time when I reviewed it, it
14 was a partial.

15 Q. Okay.

16 A. I only reviewed a part of his deposition.

17 Q. Okay.

18 A. Since then I have reviewed his full
19 deposition.

20 Q. Okay. What else?

21 A. I reviewed the depositions of
22 Drs. Jackson, Oliver and Gill.

23 Q. Okay. Anything else?

24 A. And Dr. Smith.

25 Q. Have you --

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Oh, I'm sorry, and Dr. Lee.

Q. Any others? I'm sorry.

A. No, that's it.

Q. Have the opinions in your report changed at all by virtue of you having reviewed these additional depositions?

A. No, I don't believe so.

Q. Did you receive any letters from Mr. Groedel or anybody else in his firm that set forth any facts that you relied on for purposes of rendering an opinion?

A. I believe he has sent me a cover letter in which he gave me some particulars about the case, but I did not rely on his letter in any way in forming my opinion.

Q. What is your opinion regarding this case, Doctor?

A. Well, in summary, I believe this is the case of a patient who was admitted to the hospital for a surgical procedure. The surgeons involved requested the assistance of certain medical physicians to participate and assist in the case, in the care of this patient. And for whatever reason, the medical doctors involved, and by that I mean specifically Dr. Jackson, Dr. Oliver and

E Dr. Lee, failed to do what was necessary to
2 provide appropriate care to this patient, and that
3 as a result he suffered complications and died.

4 Q. Okay. Well, let's start with
5 Dr. Jackson. What did he do wrong?

6 A. What did he do wrong?

7 Q. Yes.

8 A. Okay. I believe Dr. Jackson did not
9 carefully review his office records prior to
10 writing his consultation note, both for the first
11 hospital admission as well as for the second. I
12 believe he failed to point out the significance of
13 the patient's long-standing hypertension, as well
14 as history of episodes of congestive heart failure,
15 evidence of coronary insufficiency, and failure to
16 optimize the patient's blood pressure prior to
17 surgery.

18 Q. Which surgery?

19 A. Both the first, the proposed first
20 surgery and the second actual surgery.

21 Q. Well, are you talking about the first
22 hospitalization where he was discharged without --

23 A. That is correct, sir.

24 Q. -- without surgery?

25 And the second surgery you're referring

1 to then is the one of November 14th, 1983?

2 A. Yes.

3 Q. What about the third surgery then, in
4 your parlance, which would have been November 17th,
5 do you believe that he had any involvement in that
6 at all based on all the deposition testimony?

7 A. It is more his lack of involvement, as
8 far as the third surgical procedure is concerned,
9 that I felt was negligent.

10 Q. Well, if he didn't know about it, how
11 was he negligent?

12 A. It was his responsibility to know about
13 it.

14 Q. In other words, he should have been
15 there the whole day making sure that they did not
16 take him to surgery?

17 A. No. It is not necessary for him to be
18 there the whole day, but it is necessary for him
19 to be kept abreast of the changing conditions that
20 his patient was under going and to pursue abnormal
21 laboratories or symptoms that the patient was
22 presenting.

23 Q. Well, who was supposed to tell him,
24 Doctor? Would it have been the attending who was
25 supposed to tell him?

1 A. No, sir.

2 Q. No?

3 A. It was incumbent upon himself to do that.
4 He had indicated, not only in his first
5 consultation note, but by his second one that he
6 would follow this patient. He also testified in
7 his deposition that he felt it was his
8 responsibility to take care of the patient in
9 general.

10 Q. What did Dr. Oliver do wrong?

11 A. Dr. Oliver failed to investigate very
12 significant abnormal laboratory findings. In this
13 case the two percent Mb fraction of the CPK
14 enzymes, and failing to bring that to the
15 attention of the other attending physicians
16 involved.

17 Q. Okay. Do you believe that was, that
18 that failure was less than that required of the
19 acceptable standard of care of an intensive care
20 unit doctor?

21 A. Could you please repeat the question.

22 Q. Do you feel that that failure was a
23 departure from the acceptable standard of care
24 required of such a doctor?

25 A. Yes, I believe so.

1 Q. And do you believe that that departure
2 contributed to cause the death of Mr. Smith?

3 A. Yes, I believe so.

4 Q. How about Dr. Lee?

5 A. Dr. Lee in his preanesthetic assessment
6 of the patient failed to check on the appropriate
7 laboratory findings -- by appropriate I mean not
8 only the type, but also the recentness of the
9 tests -- by failing to recognize the very poor
10 medical condition that the patient was in, by
11 failing to use appropriate evasive monitoring, by
12 failing to inform the surgeon of the patient's
13 intraoperative course, by failing to attend to the
14 patient's medical problems in the recovery room in
15 an aggressive and timely manner, by failing to
16 call for additional assistance possibly from other
17 consultants when he apparently was unable to take
18 care of the patient's problem himself.

19 Q. Anything else?

20 A. There may be some other things, but I
21 can't think of them offhand.

22 Q. What is the -- And did these failures,
23 in your opinion, Doctor, contribute to cause
24 Mr. Smith's death?

25 A. Yes.

1 Q. To a reasonable degree of medical
2 certainty?

3 A. Yes.

4 Q. Were there any other doctors or nurses
5 that you believe didn't provide appropriate care
6 to Mr. Smith?

7 A. I believe that the house staff failed to,
8 in this case the surgical house staff, failed to
9 investigate abnormal laboratory findings and
10 failed to notify the attendings of abnormal
11 laboratory findings of the deteriorating status of
12 the patient.

13 Q. Now, what findings are we talking about
14 here?

15 A. Well, specifically the falling
16 hemoglobin and signs and symptoms of
17 gastrointestinal distress, which may not entirely
18 be GI related. By that I mean, some of those
19 symptoms could indicate that the patient was
20 having anginal episodes.

21 Q. And without investigation there's just
22 no way of telling, I take it is what you are
23 trying to say?

24 A. Right.

25 Q. Okay. Before, and I am going to jump

1 back for just a second, Doctor, but when you
2 mentioned the things that Dr. Lee didn't do
3 appropriately, one of them was that he failed to
4 advise the surgeon of intraoperative, I think you
5 said, complications or course?

6 A. Course.

7 Q. Okay. What was there about the
8 intraoperative course that he should have advised
9 him about?

10 A. The fact that the patient had a profound
11 drop in blood pressure, which he had some
12 difficulty in reversing.

13 Q. And do you believe that's why he gave
14 him the Neo-Synephrine drip?

15 A. Well, I am not certain that that
16 Neo-Synephrine drip was ever used.

17 Q. Why is that?

18 A. Well, in the nurses' notes it said
19 patient came in with a drip.

20 Q. Yes.

21 A. And subsequently it said that the drip
22 was discontinued. I know that Dr. Lee in his
23 deposition said that he never used it. And I know
24 for a fact that it is not a rare practice for
25 anesthesiologists to have vasoactive substances

1 ready and plugged into the IV tubing and not use
2 it. I do know that he used other vasoconstrictors.
3 So I know the patient's blood pressure was
4 lowering and he did have to give some drugs to
5 bring it up. But whether he actually used a Neo
6 drip or not, I don't think that I can say for sure.

7 Q. Okay. Does it matter for purposes of
8 your opinion?

9 A. No, it does not.

10 Q. Okay. Why should he have advised the
11 surgeon of that?

12 A. Because the surgeon's primary
13 responsibility is to do surgery. When they're in
14 the operating room, they concentrate on the
15 surgery. There is no reasonable expectation that
16 the surgeon should know what's going on with the
17 patient's cardiovascular or pulmonary situation.
18 Just as the anesthesiologist is not expected to
19 know the details of the surgical procedure going
20 on at the other end. This is a teamwork process
21 and the surgeon relies on the anesthesiologist to
22 notify him of medical problems that may have been
23 encountered and so on.

24 And if Dr. Lee had notified Dr. Smith
25 that he was having blood pressure problems with

1 the patient, I think that a decision would have
2 been made to send the patient directly to SICU. I
3 do want to add, however, that just because the
4 patient did not go to SICU in and of itself is not
5 negligence.

6 Q. All right. But would it be fair to
7 assume that he would have received some type of
8 care addressed to his condition had he gone to
9 SICU as opposed to what did occur here in the
10 recovery room?

11 A. A patient -- The patient's problems
12 could have been properly taken care of if a
13 physician who is knowledgeable about managing
14 cardiovascular problems was available in the
15 recovery room. I believe primarily, for the most
16 part, if the patient had been appropriately
17 monitored and a very careful anesthetic given in
18 this case, I mean a spinal, that is what we refer
19 to as a low dense block, allowing the anesthetic
20 to take its primary effect in the extremities upon
21 which the procedure was to be done, then his
22 cardiovascular system then would have been lesser
23 disturbed. He would not have had a profound fall,
24 a fall in blood pressure.

25 And also with the appropriate evasive

1 monitoring it would have been possible to fine-tune
2 his blood pressure to as close to normal as
3 possible, such that it is possible for this
4 patient to simply go to the recovery room, recover
5 and actually go back to the floor.

6 Q. So you believe that the anesthetic that
7 was given was inappropriate for this particular
8 procedure and for -- or should I say for this
9 particular man?

10 A. The anesthetic was inappropriately given
11 by the anesthesiologist; but the anesthetic that
12 was chosen, the spinal, I think it was appropriate.

13 Q. How was it inappropriately given, too
14 high, too much; what are we talking about?

15 A. Well, the level was too high.

16 Q. Okay.

17 A. I should, I think in fairness to Dr. Lee,
18 I should say that even with a great deal of care,
19 it is possible for one to get a higher than
20 intended level, but it's very unlikely.

21 Q. Well, he doesn't even list the level
22 that he administered, does he?

23 A. He did not list it; however, the
24 recovery room nurse noted what the level was when
25 the patient was there and from that you can

1 surmise that it was at least at that level.

2 Q. Which was what?

3 A. I believe the nurse described a level
4 just below the nipple line.

5 Q. Right.

6 A. Which would be about T-5.

7 Q. And is that too high for this procedure?

8 A. It's higher than necessary certainly.

9 Q. What should have been given?

10 A. If there had been -- I think a very
11 satisfactory level would be T-10, at the level of
12 the umbilicus.

13 Q. Okay. And how did giving it at T-5
14 adversely affect the cardiac status of this
15 patient?

16 A. It caused a greater than necessary
17 degree of sympathetic block, such that a greater
18 amount of blood vessels was caused to dilate and,
19 therefore, resulting in the more profound fall in
20 blood pressure.

21 Q. And how did that then adversely affect
22 or cause the ultimate demise of Mr. Smith?

23 A. A patient with cardiovascular problems,
24 such as Mr. Smith, would tolerate hypotension
25 poorly, because with low blood pressure the heart

1 would not be as well profused.

2 Q. P-r-o-f-u-s-e-d?

3 A. Right.

4 Q. And you think that's what occurred here?

5 A. Yes. That is what occurred here.

6 Q. And do you believe that the failure as
7 to adhere to the standard of care by the house
8 staff, that is their failure to be apprised of the
9 lab findings, notifying the attending of the
13 deteriorating status of the patient, do you
11 believe that those failures contributed to cause
12 the death of Mr. Smith?

13 A. Yes, I believe so.

14 Q. Okay. What do you believe the duties
15 are of the attending physician, regardless of what
16 specialty he may be, whether it be orthopedic or
17 internal; just generically, what would the duties
18 of an attending be for a patient who has
19 difficulties that encompass specialties other than
20 just his own?

21 A. What are his responsibilities?

22 Q. Yes, sir.

23 A. His responsibilities are to take care of
24 the patient's problems in areas in which he has
25 proper expertise and to seek the assistance of

1 other physicians to take care of the patient's
2 problems that relate to areas outside of his
3 expertise.

4 Q. What is his responsibility to ensure
5 that the care given outside of his expertise is,
6 in fact, given appropriately? Can he just turn
7 his back and assume that it will be, or does he
8 have to do something in addition to make sure that
9 it will be?

10 A. I think he can turn his back and assume
11 that it is properly taken care of if the person to
12 whom he's referring the patient to is known to be
13 a reasonable prudent physician, reasonable prudent
14 and competent physician.

15 Q. Could anybody having examined Dr. Lee's
16 credentials and his actions in this case have made
17 that assumption?

18 MR. GROEDEL: Objection.

19 MS. BARTNIK: Objection.

20 MR. TERRY: Objection.

21 Q. (BY MR. KAMPINSKI) Would you have made
22 that assumption?

23 A. I have no basis, in looking at Dr. Lee's
24 training, to say that he would not have the
25 expertise to take care of this patient.

1 Q. How about his actions? I mean, I
2 questioned him about having any knowledge about
3 the CPK and he didn't know what it was. Is that
4 somebody to whom you would feel fairly competent
5 in leaving a patient with cardiology problems?

6 MR. GROEDEL: Objection.

7 MS. BARTNIK: Objection.

8 MR. TERRY: Objection.

9 A. I have reviewed Dr. Lee's deposition and
10 I believe in fairness to him I would like to say
11 it was evident from reading his deposition that
12 Dr. Lee has a very significant problem in
13 expressing himself, and for that matter in
14 understanding questions that were posed to him.
15 The very first time he was asked about that Mb CPK
16 he answered that it related to cardio enzymes, and
17 when the question was posed to him, what is two
18 percent Mb CPK, I believe he thought he was
19 expected to give a number and he was therefore
20 unable to give that answer.

21 I believe his deposition indicated that
22 he, in fact, knew what Mb CPK is.

23 Q. (BY MR. KAMPINSKI) Okay. Is there
24 anything in any of the other depositions that
25 you've read where somebody's answered, No, I don't

1 know, where you believe they in fact did know?

2 A. I could not specifically tell you
3 offhand. If you --

4 Q. I just wondered if you could glean that
5 from the paper itself or --

6 A. I beg your pardon?

7 Q. When somebody says, No, I don't know,
8 and you say yes, I think they did know, I wonder
9 how it is that you can do that; is that something
10 you learned in your training, in your background,
11 your years of extensive anesthesiology?

12 MR. GROEDEL: Objection.

13 A. I would not normally be able to tell
14 that, except in that particular instance Dr. Lee
15 did give the correct answer --

16 Q. Yes.

17 A. -- initially.

18 Q. Okay. In a hospital where there are,
19 where there are residents or interns that are
20 supervised by the attending, what are the
21 responsibilities of the attending vis a vis those
22 residents, interns, and what they do in your
23 opinion?

24 A. I think the responsibility of the
25 attending is to tell the residents what

1 appropriate treatments or investigations to
2 undertake when presented with the information
3 about the patient's physical findings, laboratory
4 tests and so on that are performed by residents.

5 In other words, in that, in a teaching
6 situation, the residents follow the patients
7 closely. They then tell the attending physician
8 when they make rounds, if they do make rounds,
9 that such and such is new or this is changed with
10 the patient. And then, based on the information
11 that is presented to the attending physician, the
12 attending physician then advises the residents
13 what to do.

14 Q. Well, I take it that the information
15 that you say the residents should have advised the
16 attending of, and I think you told me that the
17 failure to tell them contributed to cause
18 Mr. Smith's death, isn't that information that the
19 attending should have then, right?

20 A. I think it is -- Well, it is available
21 to the attending.

22 Q. Yes.

23 A. But in a teaching situation, attending
24 physicians, when they have residents working
25 beneath them, do not customarily review the charts

1 themselves personally.

2 Q. Excuse me, Doctor. Didn't you just tell
3 us earlier that Dr. Jackson had an independent
4 duty to know what was going on with the patient,
5 regardless of who was to tell him, right; is that
6 correct?

7 A. If --

8 Q. Did you tell me that, sir?

9 A. Please rephrase what you just said.

10 Q. Didn't you say earlier that Dr. Jackson
11 had an independent duty to know what was going on
12 with the patient and did not have the
13 responsibility to rely on anybody at the hospital
14 to tell him, that he had to know that himself?
15 That's what you said, isn't it, sir?

16 MR. GROEDEL: Objection.

17 A. I don't remember specifically, but if I
18 did, it pertains to the patient's medical problems.
19 And I would say that only because Dr. Jackson did
20 have, did not have a medical resident working
21 beneath him. If he did, it would have been the
22 medical resident's primary responsibility to do so.

23 Q. Is the attending, in your opinion,
24 responsible for the failures of the residents to
25 apprise him of information that he should have?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. GROEDEL: Objection.

Q. (BY MR. KAMPINSKI) Is that his responsibility?

MR. GROEDEL: Go ahead.

A. Please repeat the question.

Q. Yes. The attending, in a teaching situation, is training these people, these young men and women who want to become dedicated physicians such as yourself, right?

A. Right.

Q. I mean that's his job to train them?

A. Yes.

Q. And isn't he then responsible for what they do in that teaching context; if they fail to do something appropriately, isn't he then responsible for their activities or failure to act?

MR. GROEDEL: Objection. Go ahead.

A. If he is aware that the house staff is supposed to do something and -- In other words, if he told the house staff that you should investigate something based on what the house staff has told him and the house staff didn't, then I think that he would, he had failed in his responsibility to follow-up on that.

Q. What was the condition of Mr. Smith when

1 he went to the recovery room on February 17th,
2 1983? And you can refer to the record if you want.

3 A. It was very serious.

4 Q. And what was the attending's
5 responsibility at that time in terms of either
6 seeking or ensuring that appropriate care was
7 being given to this patient?

8 A. Which attending are you referring to?

9 Q. Dr. Smith. He is the only attending
10 that I am aware of in this particular case.

11 A. Well, okay, his responsibility at that
12 instance is to rely on the recommendations of the
13 anesthesiologist as to the disposition and care of
14 the patient after anesthesia.

15 Q. Should he have called back to see how
45 his patient was doing?

17 A. I don't know whether he should have or
18 not.

19 Q. That's what I am asking.

20 A. I can't answer that question.

21 Q. Okay. You don't agree, though, that
22 Mr. Smith was in good condition when he left the
23 operating room and went to the recovery room, do
24 you, sir?

25 MR. GROEDEL: Objection.

1 A. I don't believe he was in good condition,
2 no, based on my knowledge of what his
3 intraoperative anesthetic course was.

4 Q. Okay. And certainly that's confirmed by
5 the recovery room note of 5-25, isn't it, sir,
6 that he wasn't in good condition?

7 A. You mean Mr. Smith was not?

8 Q. That's correct.

9 A. Yes.

10 Q. Okay. What effect did the giving of
11 sodium pentothal have on Mr. Smith?

12 A. It has an effect of lowering blood
13 pressure.

14 Q. What effect did it have on him in this
15 particular case?

16 A. I believe it lowered his blood pressure
17 further.

18 Q. Did it have an effect on his heart also?

19 A. Yes.

20 Q. What effect?

21 A. It was a myocardial depressant. It can
22 depress the cardioactivity of the heart.

23 Q. And is that what was needed when it was
24 given to Mr. Smith?

25 A. No. That was not what was needed. I

1 think the purpose of why it was given was to
2 render the patient unconscious of the unpleasant
3 effects of intubating him.

4 Q. Did it have the effect of killing him?

5 A. I can't say that it had the effect of
6 killing him. I don't think it was a helpful drug
7 at that point in time.

8 Q. What about the -- withdraw that.

9 A. I should say that perhaps, and again in
10 fairness I should state that given a group of
11 patients with cardiovascular disease, if they were
12 intubated without pentothal, under normal
13 circumstances a certain percentage of them would
14 come down with MIs, because intubation is a very
15 stressful procedure. And I think it was a
16 judgment call on the part of Dr. Lee to use it. I
17 think that the dose that he used perhaps was too
18 high.

19 Q. What do you think the cause of death was
20 here? Do you think it was an aspiration or an MI,
21 or do you know?

22 A. I believe the primary insult is to the
23 heart. The aspiration probably aggravated the
24 hypoxia that the patient was already suffering as
25 a result of suboptimal cardiac function.

1 Q. When do you think the aspiration
2 occurred?

3 A. I know for sure that it occurred when
4 they suctioned gastric contents from the
5 endotracheal tube. As to when it happened before
6 that, I think it would be anybody's guess really.

7 Q. Well, we heard from a doctor Saturday
8 and he opined that it occurred between 9:00 and
9 9:15. And if you look at the recovery room record --

10 MS. BARTNIK: 9:15 and 9:55.

11 MR. KAMPINSKI: Right. I'm sorry.
12 9:15 and 9:55.

13 Q. (BY MR. KAMPINSKI) And he gave that
14 opinion based upon the change reflected in the
15 blood pressure, pulse and respiration between that
16 time and the fact that there was no charting for a
17 period of 40 minutes and that all other charting
18 was done five, ten, fifteen minutes apart; and he
19 will opine that an aspiration occurred during that
20 period of time which was unrecognized by the nurse.
21 Do you agree or disagree with that, Doctor?

22 A. I can neither agree nor disagree. As I
23 said earlier, I don't believe it's possible for
24 anyone to say with any degree of certainty as to
25 when it might have occurred prior to the time the

1 patient was intubated.

2 Q. Okay. Is that something that should
3 have been observed by a careful nurse watching
4 just one patient in the recovery room, is that, an
5 aspiration?

6 A. I would say so, yes.

7 Q. And if an aspiration occurred, Doctor,
8 and it were recognized, is that treatable; does
9 that, if it's observed, normally result in death
10 or does that happen all the time and it's treated
11 and it's taken care of?

12 A. Aspiration is a very serious thing and
13 it carries with it very significant morbidity and
14 mortality.

15 Q. Okay.

16 A. In a patient who was already as
17 compromised as Mr. Smith was at that point in time,
18 I really don't believe that it would have made a
19 great deal of difference in terms of whether or
20 not he would have died.

21 Q. When do you think it occurred? Are you
22 talking now about the aspiration that occurred
23 when he was intubated or if, in fact, it occurred
24 previously? What are we talking about?

25 I mean, I don't want your testimony to

1 be misread later in terms of the time you are
2 giving now.

3 A. I understand. I believe I have given
4 testimony that I don't know when Mr. Smith
5 aspirated, other than to say I know he must have
6 aspirated by the time he was intubated.

7 Q. And is that what your testimony is
8 geared to in terms of it wouldn't have mattered at
9 that point, or are you saying if it occurred
10 between 9:15 and 9:55 it probably wouldn't have
11 mattered?

12 A. I think even if it had happened between
13 9:15 and 9:55 it would not have made a great deal
14 of difference, no.

15 Q. Okay. How about prior to 9:15, do you
16 have any opinions as to whether or not Mr. Smith
17 probably would have survived had he been given
18 appropriate treatment prior to that time?

19 A. I think he still had a reasonable chance
20 of improving when he initially arrived at the
21 recovery room.

22 Q. Okay. How about up to 9:15? And I use
23 that point only because we do see some change in
24 terms of the vitals at 9:55. And in fairness to
25 you, the doctor Saturday suggested that up until

1 that time it was still probable that he could have
2 survived had he received, had the alleged
3 aspiration been treated appropriately.

4 A. I am not sure if I understand what the
5 question is.

6 Q. Okay. I will try and rephrase it then.
7 You may not have an answer, but in fairness to you,
8 is there any particular point in time that night
9 where you believe that Mr. Smith, regardless of
10 the treatment received, would not have survived?
11 And when I say would not, I am talking about in
12 terms of probabilities, that is 51 percent or more.
13 Is there some point in this record that you think
14 no matter what would have been done, the chances
15 are that he would no longer have survived, that
16 it's more probable that he would not have survived?

17 And if you don't have an answer, that's
18 okay too. I am just trying to figure out what you
19 are going to say.

20 A. I don't believe I have an answer, except
21 to say that he significantly deteriorated by 9:55.

22 Q. Okay.

23 A. And from that point on his chances
24 simply became progressively less.

25 Q. Okay. All right. Prior to that time

1 would it be fair to say that had he received
2 appropriate treatment and care, that he probably
3 would have survived given correct treatment? And
4 when I say probably, once again that is defined as
5 51 percent or more.

6 A. I see. Yes, I would believe so.

7 Q. Okay.

8 MR. KAMPINSKI: That's all I have.
9 Some of the other attorneys may have some
10 questions of you, Doctor.

11 MS. BARTNIK: I have just a few,
12 Doctor.

13 - - -

14 BY MS. BARTNIK:

15 Q. Are you an attending physician now in a
16 hospital?

17 A. Yes, I am.

18 Q. Do you have any residents under you ever?

19 A. I don't have any anesthesia residents
20 under me. I do have other residents who rotate
21 through anesthesia.

22 Q. So while they are rotating though
23 through your department, you are the attending
24 physician and they're your residents?

25 A. Yes.

1 Q. Do the residents ever communicate with
2 you through writing in the chart?

3 A. Not without telling me also verbally.

4 Q. Well, is it an acceptable method for the
5 residents to communicate to you through the chart?

6 A. Only -- I mean they can write it, but
7 they should also tell me first.

8 Q. Okay.

9 A. Or immediately after they wrote it,
10 within a reasonable time of when they discover an
11 important finding, they should communicate it to
12 me, make sure that I know about it.

13 Q. Just so I understand what you're saying,
14 you are saying that it is not acceptable to you
15 for a resident to write a finding in the chart,
16 they must also tell you?

17 A. That's right. In other words, it would
18 not be acceptable, not only to me, but I know for
19 that matter attendings in general, for a resident
20 to notify the attending or write something in the
21 chart and leave it up to the attending to find out
22 for himself or herself.

23 Q. Do you regularly read the residents'
24 progress notes for your patients?

25 A. I don't regularly have residents rotate

1 under me, but when I do, yes, I do read their
2 notes.

3 Q. Do you independently look at the
4 laboratory values for the tests that have been
5 done?

6 A. Not necessarily, no.

7 Q. So you would rely on the residents to
8 tell you either in their notes or verbally or
9 whatever, however, what those values were?

10 A. Yes. In fact, I should say that when I
11 do have residents beneath me, I rely on them
12 probably entirely to obtain the lab values.

13 Q. And if they do not do something properly,
14 do you believe that you are responsible for their
15 conduct as their teacher and as their attending?

16 A. If they do -- If they do note something
17 improperly -- In what course? That's a very broad
18 statement.

19 Q. You can't answer that question?

20 A. Well, if I told them to do something or
21 I told them not to do something, and they did just
22 the opposite of what I told them to do, I would of
23 course be very upset with them. But I don't think
24 I would be responsible for their action as such,
25 because I had specifically told them to either do

1 something or not do something and yet they
2 independently took an opposite course of action.

3 Q. What if they get a lab value on a
4 patient and that lab value comes into the chart
5 and they don't tell you about it and the patient
6 suffers some type of consequence because no one's
7 noted it --

8 A. Uh-huh.

9 Q. -- and that's your patient, you are the
10 attending, do you consider yourself responsible
11 for that outcome?

12 MR. GROEDEL: Objection. Go ahead.

13 A. That depends on whether that laboratory
14 finding is relevant to what I have to do. I think
15 that's a difficult question to answer. I think
16 that the primary responsibility, that the fault
17 would lie very largely upon the resident.

18 See, a resident is not someone who is
19 grossly inexperienced with little education. They
20 are physicians, they have had a substantial amount
21 of training. They have learned, not only in their
22 medical school years, but in the internship some
23 basic medical knowledge, and if they apply the
24 basic medical knowledge in a prudent manner, it is
25 extremely unlikely that they would, that they

1 would be remiss in not recognizing certain
2 problems or overlooking significantly abnormal
3 laboratory values.

4 Q. You said in response to Mr. Kampinski's
5 questioning that the surgical house staff failed
6 to investigate abnormal lab values and then they
7 failed to tell the attendings about those abnormal
8 lab values and also about the GI symptoms; was
9 that your testimony?

10 A. Yes, I believe so.

11 Q. Are you saying that those two failures
12 somehow were deviations from the standard of care
13 for residents?

14 A. Yes, I believe so.

15 Q. Tell me how the failure to investigate
16 the abnormal lab values and specifically the drop
17 in the hemoglobin contributed to cause Mr. Smith's
18 death, because that was also your testimony.

19 A. Well, when the two percent Mb CPK came
20 back, it is --

21 Q. Well, let me stop you there. I believe
22 you said that the abnormal lab value that you were
23 concerned about was the drop in hemoglobin, you
24 didn't mention the CPK Mb fraction.

25 A. If I didn't, that was an omission on my

1 part. I also intended to include that.

2 Q. Let's stick with the CPK then and let's
3 go to the two percent hemoglobin.

4 A. When you have a two percent CPK, Mb CPK
5 in a test result, it indicates to you that some
6 myocardial injury had occurred even though of the
7 very low level.

8 It is incumbent upon anyone who is
9 primarily involved in the care of this patient to
10 investigate to see whether this, whether the next
11 test is going to show that the enzyme is going up
12 or going down further.

13 In other words, I know for example that
14 some --

15 Q. I just want to know how the failure of
16 the residents to further test that caused Mr. Smith's
17 death.

18 A. I was about to explain.

19 Q. Okay. Well, I just wanted to remind you
20 what the question was. I thought you were going
21 off on a tangent.

22 A. All right. If the next test came back
23 showing that the Mb CPK level is much elevated,
24 then there is no question that the patient is, in
25 fact, in the process of evolving into an MI, and

1 not getting over an MI as some of the other
2 physicians who were involved in the case or who
3 have reviewed the case believe.

4 If I may make an analogy. If you see a
5 person at the door with his hand on the doorknob,
6 you don't know whether that person is on his way
7 out or had just come in. You need to observe that
8 same individual at the next phase, a short
9 interval later. Then you will know whether the
10 person is on his way in or is on his way out.

11 The same holds true for the CPK, or Mb
12 band. The one isolated test does not tell us
13 whether the patient is about to have a heart
14 attack, that he is evolving into something bigger
15 or if he has already had it and we are seeing the
16 tail end of the spell of cardiac enzymes into the
17 blood. And if the second test comes back higher,
18 then it will be very obvious that this patient,
19 instead of being transferred to the floor, should
20 stay in the ICU unit and receive the full protocol
21 for treating patients who have had an acute MI.

22 Q. Okay. So then tell me how, tell me all
23 the steps that you have to go through before we
24 get to Mr. Smith's death; you're saying that the
25 CPK would have been elevated a second time?

1 A. Not necessarily.

2 Q. Correct?

3 A. It could be isolated.

4 Q. I want you to tell me the chain of
5 events from the residents' failure to further
6 investigate that test to Mr. Smith's death,
7 because you said that was a proximate cause. I
8 just want to understand that.

9 A. I didn't say there was proximate cause,
10 but I said it contributed to Mr. Smith's death. I
11 believe there is a difference.

12 Q. What do you believe is the difference?

13 A. Well, proximate cause means, in my mind
14 anyway, means the cause that directly led to
15 something. To have contributed to have something
16 simply means one of the factors that ultimately
17 led to.

18 Q. So you don't think that the residents'
19 failure to follow-up on this cardiac enzyme was
20 the proximate cause of Mr. Smith's death?

21 MR. KAMPINSKI: Well, wait a minute.
22 Wait a minute. Now you are throwing your own
23 terminology on to what he just explained to you
24 was his difference between proximate cause and
25 contributing cause.

1 MS. BARTNIK: Well, using his own
2 definition.

3 MR. KAMPINSKI: Wait a minute. Wait
4 a minute. Just go --

5 MS. BARTNIK: He just --

6 MR. KAMPINSKI: It may be different
7 than a legal definition, which I may submit
8 indicates that any contributing cause is a
9 proximate cause of death. All right.

10 MS. BARTNIK: Fine. I will note
11 your objection, but I'd like you to answer the
12 question if you can.

13 Q. (BY MS. BARTNIK) Are you saying then,
14 Dr. Lin, and just tell me if I am wrong, that the
15 residents' failure to further investigate the
16 cardiac enzyme was not, as you define it, the
17 proximate cause of Mr. Smith's death?

18 A. That is correct.

19 Q. Okay.

20 A. I don't believe that that in and of
21 itself is the proximate cause.

22 Q. What do you believe, under your
23 definition of proximate cause, was the proximate
24 cause of Mr. Smith's death?

25 MR. KAMPINSKI: Objection.

1 A. I believe the proximate cause of
2 Mr. Smith's death is the lack of appropriate
3 monitoring for the second surgical procedure by
4 the anesthesiologist, and the failure to
5 aggressively attend to his cardiac problems in the
6 recovery room. Because I believe, even had the
7 patient had an MI and has an active GI bleed, it
8 was still possible with appropriate monitoring to
9 take care of this patient for his second surgical
10 procedure.

11 Q. Did you read the deposition of Nurse
12 Sims?

13 A. No.

14 Q. Either one?

15 A. I have not.

16 Q. You have though reviewed the nursing
17 notes, correct, for that time in the recovery room?

18 A. Yes, I have.

19 Q. Are you going to offer any opinions at
20 the trial of this case as to whether any conduct
21 of either of the nurses in the recovery room
22 deviated from the acceptable standard of care?

23 A. I do not believe that the nurses
24 deviated from the standards of care in the
25 recovery room.

1 Q. Do you believe they deviated from the
2 standard of care at any other time?

3 A. I believe they may have.

4 Q. In what respect?

5 MR. MEADOR: Are you talking
6 apart from the recovery room?

7 MS. BARTNIK: Yes. That's what he
8 said.

9 A. I believe that at some point, either
10 during his first or second hospitalization, he had
11 complained of epigastric discomfort and shortness
12 of breath. I recall one nurse's note that was at
13 six o'clock, and I don't remember the specific
14 date, patient was sitting up in bed complaining of
15 shortness of breath. And I don't believe there is
16 any indication anywhere that the physicians were
17 notified of his complaints.

18 I am not saying that they were not, but
19 I just didn't find any record to indicate that the
20 physicians taking care of the patient were so
21 notified. And I think that the patient should
22 have -- I beg your pardon. I believe the nurses
23 should have notified the physicians taking care of
24 the patient that he had such subjective complaints.

25 MR. GROEDEL: I think that was the

1 first hospitalization.

2 A. All right.

3 Q. (BY MS. BARTNIK) Would you be able to
4 find the notation that you are thinking of in the
5 chart by looking it up? I don't want to take up
6 too much time, but if you can, I would like to
7 know if you're referring to some specific note and
8 if you have it in your mind and if you have --

9 And, Marc, if you know what he is
10 talking about --

MR. GROEDEL: I don't, but I think
12 it was the first hospitalization. I think.

13 A. I am not really prepared to say that the
14 nurses were actually negligent in failing to tell
15 the physicians involved. I think it was poor of
16 them to not have done so.

Okay. Here's one potentially. The
a first hospitalization.

19 Q. (BY MS. BARTNIK) Is there a page number
20 on the bottom?

21 A. It's so poor on mine. Ten. Probably
22 page ten.

23 Q. Okay. And the date and the time of the
24 note?

25 A. Okay. October 23rd, 1984 at two o'clock

1 in the morning. Resting quietly in bed, vital
2 sign 170 over 118. That is a critically high
3 blood pressure. Patient said he feels like, "I
4 feel like I have a tight hat on my head. It
5 always feels like this, this way when my blood
6 pressure is up." And the six a.m. entry, sitting
7 up at the side of bed complaining of shortness of
8 breath.

3 I believe that's all for the first
10 admission.

11 Q. Well, let's stop there then. Mr. Smith
12 was discharged and his surgery did not proceed at
13 that time, correct?

14 A. Yes.

15 Q. So nothing that the nurses did or didn't
16 do during this first hospitalization related in
E7 any way to Mr. Smith's death; would you agree with
18 that?

19 A. That is probably true. I get -- the
20 point I was trying to make is, a patient with a
21 blood pressure like that could have had an MI at
22 that point in time and --

23 Q. Well, can you say that more probably
24 than not he had an MI during this first
25 hospitalization?

1 A. There's no way to tell which
2 respectfully. As I said, he only had one.
3 Mr. Smith has had complaints of shortness of
4 breath, anginal-like symptoms before, not only
5 prior to his first hospitalization, but they were
6 noted many times in Dr. Jackson's office records.

7 So here's a patient with very
8 significant underlying cardiac problems and,
9 therefore, he is at risk of having a heart attack
10 at any time that his blood pressure is not
11 properly controlled.

12 Q. Basically I just want to find out what
13 opinions you may give when you testify at trial,
14 and I guess my question is: Are you going to
15 testify at trial that Mr. Smith probably had a
16 heart attack during this first admission?

17 A. I have no basis to say that he probably
18 had a heart attack. He may have.

19 Q. Okay. Are there any other notes that
20 you want to refer to that you say that the nurses,
21 there is no indication that the nurses
22 communicated certain complaints to the physicians
23 and that that was a deviation from the standard of
24 care?

25 A. I am not prepared to say that the

1 performance of the nurses on the floor was an
2 actual deviation from the standard of care in the
3 sense that they were negligent. They were not
4 good in a few isolated instances.

5 Q. Let me ask you this: Do you read the
6 nurses' notes on your patients?

7 A. Often times I do, not always.

8 Q. Do you think it's acceptable or proper
9 standard of care for a physician to read the
10 nurses' notes or do you believe --

11 A. A proper standard of care? I think it's
12 proper.

13 Q. Is it the standard of care for attending
14 physicians to read the nurses' notes or should the
15 nurses read them to the doctors?

16 A. The nurses certainly aren't obligated,
17 nor do I think it's practical, to read the notes
18 to the doctors. I think that the doctors should,
19 whenever appropriate, read the nurses' notes. I
20 mean if they have any basis to believe that the
21 patient may have some problems and it may not be
22 evident to them, then they should read the nurses'
23 notes to uncover certain things that the nurses
24 may have failed to tell the physician.

25 Q. So in the end it's up to the physician

1 to make himself or herself aware of the patient's
2 progress by either talking to the nurses or
3 reading the nurses' notes, correct?

4 A. That is his responsibility from his
5 angle. The nurses also have an independent
6 responsibility to tell the physician.

7 Q. Okay. Please point out to me the points
8 in the second hospitalization where you feel the
9 nurses deviated from the standard of care of not
10 advising the physician of what they found?

11 MR. GROEDEL: He already said that
12 he was not going to say that.

13 MS. BARTNIK: He said they're not
14 good.

15 Q. (BY MS. BARTNIK) You said they're not
16 good, but they're not a deviation from care?

17 A. I don't think they're sufficiently
18 serious that I would, that I feel very strongly
19 that they had been negligent. No, I am not
20 prepared to say they were negligent.

21 Q. I don't want to beat a dead horse.

22 A. I would like to --

23 Q. Well, there's no question. Just so I
24 understand, what do you believe the cause of death
25 was here?

1 A. I believe he died of a cardiac arrest.

2 Q. And do you believe that the arrest was
3 caused by pulmonary aspiration?

4 A. I believe it was aggravated by it. I
5 don't know whether it actually caused it or not.

6 MS. BARTNIK: All right. I have
7 no further questions right now. Thank you, Doctor.

8 THE WITNESS: You're welcome.

9 - - -

10 BY MR. TERRY:

11 Q. Doctor, a cardiac arrest simply means
12 the man's heart stopped, right?

13 A. Yes, sir.

14 Q. Do you have any opinion as to how it
15 came to stop?

16 A. It would have to be ultimately, bottom
17 line, from lack of oxygen to the heart muscles.

18 Q. Was it a myocardial infarction; did the
19 man have a pulmonary embolism? Do you have any
20 idea why his heart stopped?

21 A. I believe his heart stopped because his
22 heart was not able to pump blood effectively
23 within the body, including to itself.

24 Q. As a result of?

25 A. As a result of probably a myocardial

1 injury.

2 Q. Do you have any idea when the myocardial
3 injury occurred?

4 A. Some of it did occur after the first
5 surgery.

6 Q. The first surgery in this instance being
7 on the 14th of November, correct?

8 A. That is correct.

9 Q. All right. And that's evidenced by the
10 CPK Mb of two percent, right?

11 A. Right. Whether it happened again
12 subsequently or prior to that time, I don't think
13 anybody could say for sure.

14 Q. I don't want to make any mistake about
15 it, you are not -- Was it a myocardial infarction
16 or just some insult to the myocardium rather
17 non-specific that we're talking about?

18 A. You mean after the --

19 Q. That made his heart stop on the 17th.

20 A. After the 14th? Please repeat the
21 question.

22 Q. What made his heart stop on the 17th?
23 Did he have an MI, did he blow out a wall of the
24 heart; what happened, or do you know?

25 A. I believe he had an MI.

1 Q. And based on the information you have,
2 you can't tell whether when the one enzyme was
3 drawn, the one Mb CPK was drawn, whether he was in
4 the process of having one MI that resolved or
5 whether he was evolving; is that true?

6 A. Yes.

7 Q. And if the resident had taken and/or
8 ordered a second, then you would have a better
9 idea; is that correct?

10 A. Yes.

11 MS. BARTNIK: Objection.

12 Q. (BY MR. TERRY) Do you know whether or
13 not that information was communicated by the
14 resident to Dr. Smith prior to the surgery on the
15 17th?

16 MS. BARTNIK: What information?

17 MR. TERRY: The information
18 regarding the Mb CPK fraction.

19 A. I have no information to indicate that
20 it was.

21 Q. Do you have a belief one way or the
22 other?

23 MS. BARTNIK: Objection.

24 MR. GROEDEL: Objection. Go ahead.

25 A. I don't think I do. I would tend to

1 doubt it. I should also add that Dr. Oliver, who
2 was the intensivist involved in this patient's
3 care, I think because he's the medical doctor --
4 by that, I make a distinction between surgical
5 doctors and medical doctors.

6 Q. (BY MR. TERRY) I understand.

7 A. And internists and intensivists and
8 anesthesiologists I classify as medical doctors.

9 I believe that he was the medical doctor
10 with the responsibility of taking care of the
11 patient at that time, had the primary
12 responsibility to follow-up on that laboratory
13 finding.

14 Q. All right. So Oliver is the one who
15 should have ordered a follow-up --

16 A. More than anybody else.

17 Q. -- lab test?

18 And he should have apparently alerted
19 the orthopedic people?

20 A. And the internists perhaps, since this
21 patient ultimately will be transferred, his
22 medical problem would ultimately be transferred to
23 the care of internists.

24 Q. If Dr. Smith was aware of the Mb
25 fraction on the 17th, would it have been

1 appropriate for him to conduct this surgery?

2 MR. GROEDEL: Objection. Go ahead.

3 Q. (BY MR. TERRY) Do you have an opinion
4 on that?

5 A. The second one?

6 Q. The second one on the 17th.

7 A. If he had been aware of it, would it
8 have been appropriate?

9 Q. That's correct.

10 A. I don't think the patient's dislocated
11 hip could tolerate the waiting period that would
12 normally be appropriate for cardiac rehabilitation,
13 therefore he had to proceed no matter what. The
14 question is under what circumstances to proceed,
15 and that is a decision made by the anesthesiologist.

16 Q. Do you consider this to be emergency
17 surgery?

18 A. Yes, I do.

19 Q. This is a man who, I believe you stated,
20 had some kind of a GI bleed on the 17th?

21 A. There was evidence of that, yes.

22 Q. All right. And that's evidenced by the --

23 A. Guaiac positive, g-u-a-i-a-c.

24 Q. The coffee ground emesis, right?

25 A. And the falling hemoglobin.

1 Q. And he also had his hematocrit dropping,
2 correct?

3 A. Yes.

4 Q. Hemoglobin is dropping, correct?

5 A. Yes.

6 Q. He's got enzymes in the blood indicating
7 that there is some damage to the heart?

8 A. Well, that is from the sample taken on
9 the 14th.

10 Q. All right. Last known?

11 A. Right.

12 Q. And you still consider that the surgery
13 should have gone forward on the 17th; that it was
14 proper to operate on this guy or to, excuse me, to
15 take him in, put him through the stress of
16 anesthesia in order to fix the hip?

17 A. Yes, because having a dislocated hip is
18 a stress in itself as well that needed to be
19 addressed.

20 Q. On a scale of stress, how would you rate
21 going through the anesthesia that he went through
22 on the 17th with the dislocation of the hip that
23 existed prior to surgery?

24 MR. GROEDEL: Stress to what?

25 MR. TERRY: Stress to the heart.

1 A. That depends on what you do in
2 preparation for the anesthesia. With appropriate
3 monitoring and so on, the stress can be very
4 significantly reduced.

5 Q. You have indicated that as far as
6 Dr. Lee is concerned, just so I understand exactly
7 what the criticisms are, that his preanesthetic
8 assessment was inappropriate, right?

9 A. Yes, I believe so.

10 Q. In what respect?

11 A. He did not have the most current
12 laboratory values entered in the chart. I don't
13 believe that he was impressed about how serious
14 the patient's underlying cardiovascular problems
15 were, because he did not make a great deal of
16 notation about it other than a history of
17 hypertension and he noted -- I would like to refer
18 to the record, if I may.

19 Q. Be my guest. Just tell me what you're
20 referring to.

21 A. It says -- certainly. 152. Page 152,
22 preanesthetic assessment.

23 Q. Specifically?

24 A. Under EKG, it says PAC, I think it says
25 here left ventricular strain. I believe there was

1 an official EKG interpretation that showed
2 ischemic changes, together with the left
3 ventricular change. And that is very pertinent.
4 It would indicate to me that the heart already was
5 not getting as much blood flow as it needs ideally
6 and, therefore, it's very important to do what's
7 necessary to help benefit, to help improve the
8 profusions of the heart.

9 There was an arterial blood gas noted on
10 the preanesthesia evaluation. That arterial blood
11 gas was, in fact, one obtained while the patient
12 was on the ventilator. And the gases were very
13 poor even with the assistance of the ventilator.

14 I think that given these kinds of gases
15 and the history of cardiac arrhythmia in the SICU
16 following the first anesthetic, very strong
17 considerations should have been given to the
18 patient's going to SICU after surgery; in addition
19 to having the necessary evasive monitorings.

20 Q. All right. But in your opinion you
21 still feel that he could have been operated on
22 safely, correct, anesthesia still could have been
23 given in a safe enough manner?

24 A. Right. That's a relative term.

25 Q. Relative to what?

1 A. Well, relative in the sense that the
2 patient would not be free of risk from serious
3 complications such as death, but the chances of
4 him coming through alive would be very
5 significantly enhanced.

6 Q. Based on the assessment of this
7 individual --

8 A. Right.

9 Q. -- and based on what you consider to be
10 appropriate anesthetic techniques, what do you
11 consider his probability of surviving the
12 procedure to be on the 17th of March?

13 A. If all the appropriate steps were taken?

14 Q. Yes, sir.

15 A. I would say better than 60 percent.

16 Q. You also -- I believe this goes part and
17 parcel I assume with the inappropriate
18 preanesthesia assessment, but you indicated that
19 he failed to recognize the risk. Specifically
20 what risk were you referring to?

21 A. The risk of a low hemoglobin and
22 therefore impaired perfusion to the heart and
23 lungs; the risk of previous history of cardiac
24 arrhythmias and therefore the likely recurrence of
25 this problem; the risk of having sustained a

1 myocardial injury following the first anesthetic
2 and therefore the much greater risk of a repeat
3 injurious myocardial event which could result in
4 death.

5 Q. You are basing that statement on the
6 blood enzyme or on an EKG or what, the fact that
7 there was an injury?

8 A. On the blood enzyme.

9 Q. All right. I thought you told me before
10 that you couldn't tell whether that meant that
11 this man was in a situation where he is evolving
12 into an MI or whether he has got one that is
13 resolving, and therefore now you can determine
14 that; is that true?

15 A. No. It doesn't matter if he was
16 evolving into one or whether he had just had one.
17 Either way there was myocardial injury of some
18 degree which was not determined. But whenever you
19 have that, even if it's a small degree, then there
20 is increased risk of a repeat injurious event when
21 you subject them to the stresses of anesthesia and
22 surgery again.

23 Q. Any other risks?

24 A. The fact that the patient is a COPD and
25 probably needed repeated arterial blood gas

1 analysis in order to assist in the management of
2 his partial oxygenation.

3 Q. What monitoring should have been done
4 and when should it have been done?

5 A. The minimal amount of evasive monitoring
6 for Mr. Smith for the second surgery would be
7 arterial line and the pulmonary arterial catheter,
8 otherwise known as a Swan-Ganz catheter, which
9 would have been very helpful at best.

10 Q. Is the Swan-Ganz standard of care?

11 A. I can't say that it is a standard of
12 care, no.

13 Q. The arterial is?

14 A. (Witness indicating).

15 MR. GROEDEL: Say yes for the
16 record.

17 A. Yes. I thought I said yes.

18 Q. (BY MR. TERRY) Any other monitors, any
19 other internal monitors that should have been used?

20 A. Well, that would be true of the other
21 more routine ones, such as the precordial
22 stethoscope, an EKG, I think a temperature monitor
23 would have been very desirable. I don't believe
24 that back in 19 --

25 MR. GROEDEL: '84,

1 A. -- 84, pulse of symmetry was widely used
2 at that time, so I would not make any comments
3 with regard to the care.

4 Q. Of those monitors that you mentioned,
5 which of them rise to the, or the failure to use
6 them would constitute a deviation from the
7 standard of care?

8 A. The EKG and the arterial line.

9 Q. Is that in this case or in all cases?

10 A. In all cases that resemble this.

11 Q. Failure to advise the surgeon of the
12 intraoperative course, what's the basis for that
13 criticism?

14 A. Well, apparently Dr. Smith was not under
15 the impression that the patient was going to have
16 any problem.

17 Q. Do you have anything you are relying on
18 or is that an assumption of yours or what?

19 A. Well, from my experience, if I tell the
20 surgeon that the patient has had some problems
21 intraop, he would heighten his concern and more
22 likely than not they would participate to some
23 degree in the immediate postoperative care of the
24 patient either in actually assisting me in some
25 way or in consulting with me about what additional

1 medical help to seek. For example, whether to
2 have an internist see the patient, have the
3 patient transferred to a unit and so on.

4 Q. That's your experience. Is there
5 anything in this record that tells you that there
6 was no communication between Dr. Lee and Dr. Smith
7 about the difficulty during the intraoperative
8 course, or Dr. Lee and any of the other residents,
9 any of the residents that were with Dr. Smith?

10 A. I have no evidence that there was or
11 wasn't communication.

12 Q. Okay. So that's an assumption?

13 A. That was an inference. Yes.

14 Q. And you could just as well infer that he
15 did tell Dr. Smith and Dr. Smith decided not to do
16 anything about it, couldn't you?

17 MR. KAMPINSKI: I am going to object.

18 MR. GROEDEL: Objection, because
19 the record reflects that he didn't put down any
20 complication. So if he didn't recognize any,
21 how's he going to tell him about it?

22 MR. TERRY: Who didn't, Smith or
23 Lee?

24 MR. KAMPINSKI: Lee, who has
25 admitted negligence.

1 Q. (BY MR. TERRY) Now, the bad post-op
2 care, the long and short of it, Doctor, you
3 testified, if I am not mistaken, correct me if I
4 am wrong, is that it's your opinion that at least
5 up until 9:15 on the evening of the 17th,
6 Mr. Smith probably would have survived with
7 appropriate care?

8 MS. BARTNIK: Objection. I think
9 you have the wrong time.

10 MR. KAMPINSKI: I think he said
11 9:55.

12 MR. BARTNIK: He did.

13 Q. (BY MR. TERRY) 9:55 or 9:15?

14 A. I believe I said 9:55. I will need to
15 look at the nurses' notes in order to --

16 MS. BARTNIK: Chuck, you and I
17 agree on that?

18 MR. KAMPINSKI: I'm easy to get
19 along with. I always agree to the accurate facts.

20 A. Yes, I did say 9:55.

21 Q. (BY MR. TERRY) After 9:55 what is it
22 that Dr. Lee did that was inappropriate? What did
23 he do that caused this death?

24 A. Number one, the failure to immediately
25 insert the appropriate evasive monitoring, because

1 the patient at that point still did not have
2 either an arterial line or a Swan-Ganz catheter.
3 That would have helped him know the cardiac status
4 of the patient.

5 And I think at that point the patient's
6 problem is sufficiently serious and complicated
7 that it would have been helpful for him to get
8 someone else to assist him, either intensivists,
9 cardiologists or internists.

10 I believe he should have also, and I am
11 not certain whether at this point he had gotten a
12 blood gas or not, but if he hadn't, it would be
13 extremely important for him to get one. Also to
14 get a chest x-ray, which would help give
15 additional useful information.

16 Q. And if he had done all that, I mean
17 that's the proximate cause of the death here, the
18 failure to get that monitoring information, get an
19 EKG, a chest x-ray and get a consult; is that what
20 I understand your testimony is?

21 MR. KAMPINSKI: The question you
22 just asked him is what else didn't he do right
23 after 9:55.

24 MR. TERRY: That was the
25 proximate cause of the death.

1 MR. KAMPINSKI: No, you didn't add
2 that.

3 MR. TERRY: Yes, I did.

4 MR. KAMPINSKI: No, you didn't. Now
5 you are asking him a different question.

6 MR. TERRY: Yes, I did, but I
7 will ask it again to make you happy.

8 MR. KAMPINSKI: No. Don't make me
9 happy, because no, you didn't.

10 MR. TERRY: Excuse me.

11 Q. (BY MR. TERRY) On the 17th of March --

12 MS. BARTNIK: November.

13 MR. GROEDEL: Is it March or
14 November?

15 Q. -- November, 1984, after 9:55, what
16 didn't Dr. Lee do that was the proximate cause of
17 the death of Mr. Smith?

18 A. Would you like me to answer that
19 question again? I thought I had just answered it.

20 Q. Well, that's what I thought too. Those,
21 as I understand it, though, the monitoring, the
22 chest x-ray, would have given, and the EKG would
23 have given him a clinical picture of what was
24 going on, correct?

25 A. Right. It would better enable him to

1 treat what the underlying cause is.

2 Q. Was there anything in your opinion that
3 could have been done after 9:55 on that date which
4 would have prevented the death of this individual?

5 A. Well, since you asked me what he could
6 have done, certainly all those things that I just
7 mentioned can possibly enable him to be alive.

8 Q. Okay. Those give you a clinical picture,
9 but they're not treatment, are they?

10 A. No, of course not.

11 Q. All right.

12 A. They give you useful information upon
13 which to base the treatment. Okay?

14 Q. Okay.

15 A. Another element that must be present is
16 taking the appropriate action based on the
17 information that will be obtained from these
18 additional tests.

19 Q. Okay. Now, the question is, and you may
20 not have an opinion, is there any, is there any
21 treatment that could have been administered to
22 Mr. Smith after 9:55 that would have changed the
23 outcome in this case?

24 MR. GROEDEL: Objection. Go ahead.

25 A. Only that definitely treatments could

1 have been given which may have changed the outcome.

2 Q. All right. You do not, I take it, have
3 an opinion as to any kind of medical probability
4 as to whether any set course of treatment were to
5 change the outcome after 9:55?

6 A. Would you repeat that.

7 Q. Do you or do you not have an opinion as
8 to the degree of reasonable medical probability as
9 to whether any treatment administered to Mr. Smith
10 would have changed the outcome after 9:55 p.m.?

11 A. I think any figures that are proffered
12 by anyone would be at best educated guesses.

13 Q. All right. What about the
14 deterioration; Mr. Smith, at least the records
15 indicate, was in fairly decent shape when his
16 vital signs were last taken at 9:15, and then he
17 had deteriorated between 9:15 and 9:55.

18 MR. KAMPINSKI: Objection to fairly
19 decent shape. I don't think that's what the
20 doctor testified to.

21 Q. (BY MR. TERRY) They were better at 9:15
22 than they were at 9:55, can we agree on that,
23 Doctor?

24 A. Yes, I believe so.

25 Q. Do you have any reason to believe that

1 that was not a gradual deterioration? I mean, do
2 you think that he just kind of coasted downhill
3 there for 40 minutes or do you think that there
4 was some incident that precipitated the change in
5 vital signs; or do you have any opinion on that
6 one way or another?

7 A. I don't believe that the vitals from
8 9:15 to 9:55 represent a drastic deterioration.
9 And again, drastic and coasting down and so on are
10 very subjective terms. I have no strong suspicion
11 that something happened during that interval that
12 resulted in the change. I think it's just most
13 likely a general pattern of deterioration.

14 Q. All right. Do you think that it's
15 appropriate that there are no notations, that
16 apparently the vital signs at least were not
17 written down during that period from 9:15 to 9:55?

18 MS. BARTNIK: Objection.

19 A. I am puzzled why there isn't an entry,
20 but I cannot draw any conclusions from that.

21 There is a considerable time gap that is --

22 MR. TERRY: Thank you. Nothing
23 else.

24
25

1
2 BY MR. MEADOR:

3 Q. You were just talking about a general
4 pattern of deterioration that you observed from
5 the notes of this patient here. Are you saying
6 that you really can't say when the patient's
7 chances were less than 50/50 of surviving?

8 MR. KAMPINSKI: Well, I object. I
9 think he did say that.

10 MR. GROEDEL: Objection.

11 A. Yes, I did say that. I believe I said
12 that after 9:55.

13 Q. (BY MR. MEADOR) Okay. At 9:55 the
14 chances were 50/50 or 51 in favor of not surviving?

15 A. 51 in favor of not surviving.

16 Q. Okay. And you attribute that to a
17 general pattern of deterioration. Do you not
18 attribute it to whatever may or may not have
19 happened between 9:15 and 9:55; is that true?

20 A. I have no information to tell me what
21 might have happened between 9:15 and 9:55,
22 therefore I cannot attribute it to what I do not
23 know may have existed.

24 Q. Okay. Getting back to the discussion
25 we've had about aspirations, what is your

1 definition of an aspiration?

2 A. Aspiration is the entry of gastric
3 content into the airway and/or lungs.

4 Q. Okay. And there is evidence in the
5 record here that indicates to you that there was
6 an aspiration in this case?

7 A. The return of what appears to be gastric
8 content from the endotracheal tube is very
9 suggestive of an aspiration.

10 Q. And that's from the notes that indicate
11 a tan fluid was suctioned from the patient; is
12 that true?

13 A. Yes.

14 Q. Okay. But you have no idea when the
15 aspiration occurred; is that true?

16 A. That is correct. And I am not even
17 absolutely certain that an aspiration did occur.
18 I think it's very likely. See, tan is a color
19 that is sufficiently close to pink, that it is
20 possible that the fluid that was obtained could be
21 from pulmonary edema as a term of a cardio event.
22 And when that happens there is a considerable
23 amount of that fluid in the airways, but it would
24 seem more likely that it was a gastric secretion
25 than pulmonary edema fluid simply because of the

1 designation tan color.

2 Q. Okay. Would you say there is more of a
3 50 percent chance that it was gastric content as
4 opposed to --

5 A. I would say.

6 Q. -- pulmonary edema?

7 A. I would say so, yes.

8 Q. Are there different kinds of aspirations?
9 In other words, you testified that a nurse would
10 see an aspiration; is that your testimony?

11 A. That a nurse would see it?

12 Q. Yes.

13 A. I don't believe that I testified to that.
14 A patient could have aspirated without a nurse
15 seeing it if the nurse was not observing.

16 Q. That's -- Even if a nurse was looking at
17 a patient, a patient could aspirate something and
18 the nurse not see it; isn't that true?

19 A. Yes. It's a matter of degree.

20 Q. You don't know when an aspiration
21 occurred in this case, do you?

22 A. No.

23 Q. Isn't it true that it's just as likely
24 that an aspiration occurred during the operation
25 as opposed to after the operation?

1 MR. TERRY: Objection.

2 A. I can't say that it is as likely.
3 Aspirations tend to occur during a time of
4 relative loss of consciousness.

5 Q. Okay.

6 A. And, therefore, if the patient was awake
7 throughout the operation and still had his
8 protective airway reflexes, there's no reason to
9 believe that he aspirated at that time.

10 Q. Okay. Well, isn't it true that the
11 patient was even more awake in the recovery room
12 and would have had a greater amount of those gag
13 reflexes that you referred to?

14 A. You mean as a result of possibly pre-op
15 sedation wearing off?

16 Q. Yes.

17 A. It would generally be true that with
18 time the patient would be more awake in the
19 recovery room. However, if the patient's
20 cardiovascular status deteriorates, it can cause
21 him to lose his consciousness as a result of loss
22 of inadequate cerebral perfusion than the effects
23 of sedatives.

24 Q. You don't have any idea, from looking at
25 the records here, that the patient aspirated

1 anything between 9:15 and 9:55, do you?

2 A. I have no information to base that
3 assertion, no.

4 Q. So you can't say one way or the other?

5 A. That is correct. I wouldn't know. I
6 would note that there is such a long time gap
7 without anything, such as a nursing entry.

8 Q. Right. But that doesn't tell you there
9 was an aspiration that occurred during that time
10 period?

11 A. No, it does not. No.

12 MR. MEADOR: That's all I have.

13 MR. KAMPINSKI: Just a couple quick
14 questions, Doctor.

15 - - -

16 BY MR. KAMPINSKI:

17 Q. If there was an aspiration, just going
18 on further with this alleged aspiration --

19 A. Okay.

20 Q. -- would you expect some changes in the
21 patient, such as a change in vital signs within
22 some short period of time after the aspiration?

23 A. Yes. Assuming that it is a significant
24 aspiration, yes.

25 Q. All right. And also in your report,

[I
1 Doctor, there was, okay, there was a sentence on
2 page two --

3 A. Yes.

4 Q. -- in the continuation of the paragraph
5 where it says, "In my training and observations at
6 the Yale New Haven Medical Center and other
7 tertiary centers such as St. Luke's," you didn't
8 mean St. Luke's, you don't have any experience at
9 St. Luke's, do you?

10 A. No. I had recognized that as a semantic
11 error after I wrote it. I should say other
12 tertiary centers similar to St. Luke's.

13 Q. All right. And at least in the written
14 notes of the nurses, there is no recognition of
15 any loss of consciousness and obviously we don't
16 know what occurred in that 40-minute period from
17 9:15 to 9:55, do we?

18 A. No.

19 Q. But we do know that at 9:15 the IV
20 infiltrated, do we not, Doctor?

21 A. Yes.

22 Q. Okay. And what effect would that have
23 had on Mr. Smith?

24 A. It depends on whether he was getting
25 important medication by IV or not.

1 Q. Well, okay, let's take a look. He was
2 getting Lidocaine, wasn't he?

3 A. I believe he was. I knew he received
4 Lidocaine.

5 Q. Yes.

6 A. But I was not able to ascertain whether
7 it was bolus or --

8 Q. Go back to 156, if you would, at six
9 o'clock, I guess.

10 A. Okay.

11 Q. Lidocaine, 50 something, given IVP per
12 Dr. Lee?

13 A. IVP means IV push. That means it's
14 given -- (indicating).

15 Q. And that's it?

16 A. That's it.

17 Q. I see.

18 A. Both times, IV push.

19 Q. So you don't know whether he was getting
20 any through his IV or not then, do you?

21 A. I don't believe there's mention of it,
22 no.

23 Q. What treatment was he getting for his
24 problems up until nine o'clock?

25 A. Up until nine o'clock?

1 Q. Sure. Was he getting any treatment?

2 A. No, other than oxygen and the two
3 boluses of Lidocaine from what I am able to get
4 out of the --

5 MR. KAMPINSKI: That's all.

6 MS. BARTNIK: Nothing further for
7 me.

8 MR. TERRY: Nothing.

9 MR. KAMPINSKI: All right. You have
10 a right to read your testimony and sign it; or you
11 have the right to waive your signature.

12 MR. GROEDEL: We will not waive.

13 MR. KAMPINSKI: I am sure Mr. Groedel
14 will advise you.

15 - - - -

16 (Deposition concluded at 4:00 p.m.)

17 - - -

18

19

20

21

22

23

24

25

I have read the foregoing transcript from
page 1 to page 87 and note the following
corrections:

<u>PAGE:</u>	<u>LINE:</u>	<u>CORRECTION:</u>	<u>REASON:</u>
--------------	--------------	--------------------	----------------

EDWARD D. LIN
Subscribed and sworn to before me this
day of , 1987.


Notary Public

My Commission Expires:

1 THE STATE OF OHIO,)
2) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

3 I, Marguerite A. Sandly, RPR/CM and Notary
4 Public within and for the State of Ohio, duly
5 commissioned and qualified, do hereby certify that
6 EDWARD D. LIN was by me, before the giving of his
7 deposition, first duly sworn to testify the truth,
8 the whole truth, and nothing but the truth; that
9 the deposition as above set forth was reduced to
10 writing by me by means of Stenotype and was
11 subsequently transcribed into typewriting by means
12 of computer-aided transcription under my direction;
13 that said deposition was taken at the time and
14 place aforesaid pursuant to notice and by
15 agreement of counsel; and that I am not a relative
16 or attorney of either party or otherwise
17 interested in the event of this action.

18 IN WITNESS WHEREOF, I hereunto set my hand
19 and seal of office at Cleveland, Ohio, this 19th
20 day of November, 1987.

21
22 
23 Marguerite A. Sandly, RPR/CM and Notary
24 Public within and for the State of Ohio
540 Terminal Tower
Cleveland, Ohio 44113

25 My Commission Expires: October 30, 1989.