

DK

ORIGINAL

1 STATE OF ILLINOIS)
) SS:
 2 COUNTY OF COOK)

3 IN THE CIRCUIT COURT OF COOK COUNTY, ILLINOIS
 4 COUNTY DEPARTMENT - LAW DIVISION

5 KENNETH HANSON,)
)
 6 Plaintiff,)
)
 7 vs.)
)
 8 RODOLFO PATINO, M.D.,)
 ALVARO PENA, M.D., JOSEPH L.)
 9 GIACCHINO, JR., M.D., RAUL)
 VILLASUSO, M.D., BORYS SUMYK,)
 10 M.D., MELROSE PARK CLINIC,)
 LTD., an Illinois Corporation,)
 11 JILL WHITNEY, R.N. SHARON R.)
 STRINGFELLOW, R.N., JENNY)
 12 PERRY, R.N. LOUISE HOWARD,)
 R.N., and WESTLAKE COMMUNITY)
 13 HOSPITAL,)
)
 14 Defendants.)

DOC. 270

No. 92 L 07906

1995 JUN 1 11:11
 FILED
 1-11-95

15 The discovery deposition of FRED NELSON LITTOOY
 16 M.D., taken in the above-entitled cause, before Lucia
 17 R. Filippelli, a notary public within and for the
 18 County of Cook and State of Illinois, and a Certified
 19 Shorthand Reporter of said state, at 1 North LaSalle
 20 Street, Suite 3500, Chicago, Illinois, on the 16th day
 21 of May, A.D., 1995, at 8:00 a.m.

22
 23
 24

1 APPEARANCES:

2 LAW OFFICES OF DONALD J. NOLAN, by
3 MR. PAUL M. MC MAHON,
4 (One North LaSalle Street, 35th Floor,
5 Chicago, IL 60602)

6 On behalf of the Plaintiff;

7 O'CONNOR, SCHIFF & MYERS, by
8 MR. GREGORY G. BALOS,
9 (Two North LaSalle Street, 10th Floor,
10 Chicago, IL 60602)

11 On behalf of the Defendant,
12 Dr. Rodolfo Patino,

13 LAW OFFICES OF HORVATH & LIEBER, P.C., by
14 MR. STEPHEN A. WHELAN
15 (300 West Washington Street, 17th Floor,
16 Chicago, IL 60606)

17 On behalf of the Defendant,
18 Dr. Alvaro Pena and the Melrose Park
19 Clinic;

20 THOMAS C. BARATTA JR., & ASSOC., by
21 MR. SAMUEL A. GARNELLO,
22 (Midwest Centre, Suite 200,
23 501 West North Avenue
24 Melrose Park, IL 60160)

On behalf of the Defendant,
Dr. Joseph L. Giacchino, Jr.

SANCHEZ & DANIELS, by
MS. LORI S. YOKOYAMA,
(333 West Wacker Drive, Suite 500,
Chicago, IL 60606)

On behalf of the Defendant,
Dr. Raul Villasuso,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

APPEARANCES: (Continued)

BULLARO, CARTON & STONE, by
MS. AMY L. ANDERSON,
(100 North Riverside Plaza, Suite 2100,
Chicago, IL 60606)

On behalf of the Defendant,
Dr. Borys Sumyk;

CASSIDAY, SCHADE & GLOOR, by
MR. SCOTT J. BROWN,
(333 West Wacker Drive, Suite 1200,
Chicago, IL 60606-1289)

On behalf of the Defendant,
Westlake Community Hospital.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

WITNESS	EXAMINATION
FRED NELSON LITTOOY	
By Mr. McMahon	5
By Mr. Brown	147
By Mr. Whelan	148

EXHIBITS

NUMBER	MARKED FOR ID
Littooy Deposition Exhibit	
No. 1	6
No. 2	17

1 MR. MC MAHON: Let the record reflect that this is
2 the discovery deposition of Dr. Fred Littooy, taken
3 pursuant to notice and continued to today's date by
4 agreement of the parties.

5 (Witness duly sworn.)

6 FRED NELSON LITTOOY, M.D.
7 called as a witness herein, having been first duly
8 sworn, was examined and testified as follows:

9 EXAMINATION

10 BY MR. MC MAHON:

11 Q. Dr. Littooy, could you please state your full
12 name and spell your last name for the record.

13 A. Yes. Fred Nelson Littooy, L-i-t-t-o-o-y.

14 Q. Two Ts, Doctor?

15 A. Yes.

16 Q. Doctor, have you ever given a deposition
17 before?

18 A. Yes, I have.

19 Q. You understand the basic ground rules, that
20 I'm going to be asking you a series of questions, that
21 there is going to be a court reporter who is going to
22 be transcribing those questions and your answers.

23 First of all, I would caution you to let me
24 finish the question before you answer so that the court

1 reporter can take down our questions and answers
2 sequentially. Okay, Doctor?

3 A. Yes.

4 Q. Secondly, I would just say if there are any
5 questions that you don't understand, I would ask that
6 you let me know that, so that I'll rephrase the
7 question. Otherwise, I'll have to assume that you
8 didn't understand the question, okay, Doctor?

9 A. Yes.

10 MR. MC MAHON: Why don't we mark this as Exhibit
11 1.

12 (Whereupon Littooy Deposition
13 Exhibit No. 1 was marked for
14 identification.)

15 BY MR. MC MAHON:

16 Q. Dr. Littooy, I'm showing you what's been
17 marked Plaintiff's Exhibit 1 for identification.

18 Do you recognize that document?

19 A. Yes.

20 Q. What do you recognize that to be?

21 A. My curriculum vitae.

22 Q. Is that CV current?

23 A. This is 1993, so it's not fully current, but
24 there's probably an additional four or five articles in

1 addition to what you see there.

2 Q. Would you be able to recall those articles
3 off the top of your head, Doctor?

4 A. No.

5 Q. Are any of those articles articles that would
6 contain issues pertinent to the facts in this case?

7 A. No.

8 Q. Doctor, your home address is current on the
9 GV?

10 A. Yes, it is.

11 Q. Do you have any intentions to move in the
12 near future?

13 A. Not in the near future.

14 Q. Your business address is also correct?

15 A. Yes.

16 Q. Do you have any intentions to go into any
17 change of employment in the near future?

18 A. No.

19 Q. Doctor, where are you currently employed?

20 A. Loyola University Medical Center and Hines
21 V.A. Medical Center.

22 Q. What is your position?

23 A. Plastic surgery at Loyola and ch ef of the
24 division of peripheral vascular surgery for Hines V.A.

1 Hospital.

2 Q. Doctor, if you could give me an idea of what
3 your responsibilities are in regards to your being a
4 professor of surgery at Loyola?

5 A. My responsibilities are to teach both
6 residents and students to be productive in terms of
7 research, which is primarily my situation, clinical
8 research and patient care.

9 Q. What about in your role as chief of
10 peripheral vascular surgery?

11 A. I'm head of that division and responsible for
12 all of the goings-on in the division of peripheral
13 vascular surgery at the Hines V.A. Hospital under the
14 department of surgery.

15 Q. Doctor, can you give me a breakdown of what
16 your professional week consists of, what types of
17 responsibilities you would take care of on an average
18 week?

19 A. It's a breakdown between patient care and
20 teaching responsibilities and administrative
21 responsibilities. Patient care is probably about 50
22 percent of the time; teaching is about another 40
23 percent; administrative is about 10 percent.

24 Q. Doctor, do you do any consultation --

1 obviously you do some consultation on legal cases
2 outside of your other responsibilities.

3 Can you give me an idea of -- within a
4 percentage, as you just stated, that added up to 100
5 percent. If you can, include whatever consultations
6 you do on legal cases into your overall job
7 responsibilities. Can you give me a breakdown in that
8 regard?

9 A. Yes, it's less than 5 percent.

10 Q. Would be legal consultation?

11 A. Yes.

12 Q. Doctor, would that be true in regards to time
13 spent?

14 A. Yes.

15 Q. Would that also be true in regards as to a
16 percentage of your income received?

17 A. Yes.

18 Q. Doctor, is it your understanding that you are
19 testifying today in regards to your expertise in
20 vascular surgery?

21 A. Yes.

22 Q. What makes you qualified to be a vascular
23 surgeon?

24 A. My training, which involved special training

1 in vascular surgery beyond the general surgical
2 training and the fact I've been involved in strictly
3 peripheral vascular in an academic setting for the last
4 17 years.

5 Q. Could I ask you, Doctor, to go through what
6 we marked in Exhibit 1 as your CV and identify for me
7 the specific items which pertain to your having
8 expertise and being qualified in vascular surgery?

9 A. On the first page, it's postgraduate training
10 as a fellow in vascular surgery in 1976 to '77,
11 University of California, certification of special
12 qualifications in vascular surgery on the second page
13 by the American Board of Surgery in 1983, for which
14 I've been recertified in 1994.

15 The fact that I'm chief of the division of
16 peripheral vascular surgery at the Hines V.A. Hospital
17 and the fact that I've been involved in numerous
18 cooperative studies involving peripheral vascular
19 surgery in the V.A. both as principal investigator and
20 as a planning committee member on at least two major
21 cooperative studies. I've been involved in several NIH
22 cooperative studies, clinical cooperative studies, and
23 that my research has been entirely involved in the area
24 of peripheral vascular surgery.

1 Q. Doctor, I note that you've written many
2 articles. Could you take a quick look at the articles
3 you list in your CV and identify for me which ones you
4 feel have issues pertaining to the matters in this
5 case?

6 A. Should I just list numbers?

7 Q. You can give me numbers. That's fine.

8 A. For the bibliography, the papers that are
9 published No. 4, No. 5, No. 11, No. 22, No. 29, No. 31,
10 No. 41, No. 50. I think that would be it.

11 Q. Doctor, when you said that would be it, were
12 you just going through the articles?

13 A. Yes. Do you want to go through the talks?

14 Q. Please, Doctor, can you go through the books
15 and any presentations?

16 A. Nothing in the books.

17 Presentations would start on Page 10. No. 7,
18 8, 14, 15, 16, 28, 35, 36, 40, 42, 65, 66, 67, 68, 69,
19 73, 75. That's it.

20 Q. Doctor, as to your articles, those articles
21 are all published?

22 A. The articles, yes.

23 Q. It also says or in press?

24 A. Well, those are all published.

1 Q. Do you have those articles available to you?

2 A. Not readily, but someone -- I mean, they
3 could be looked up in the various journals.

4 Q. Okay. What about the presentations, Doctor?
5 Do you retain any of those materials?

6 A. No, I don't.

7 Q. Doctor, just so that we are clear, the only
8 opinions that you give that are relevant are opinions
9 that are within a reasonable degree of medical and
10 surgical certainty. So when I'm asking you questions,
11 I would ask that you only volunteer opinions that do
12 have that reasonable degree of certainty, and I'll
13 assume that that's the case unless you tell me
14 otherwise, okay, Doctor?

15 A. Yes.

16 Q. Doctor, can you go through and tell me what
17 materials you've reviewed in this case?

18 A. The Westlake materials for admission for a
19 bypass, admission for removal of his toe, admission for
20 epidural abscess and infected leg craft and amputation.
21 And I believe there's some later rehab admissions, too.
22 The office notes of Dr. Villasuso and Dr. Pina, and
23 depositions from the patient and from Dr. Sumyk and one
24 from Dr. Villasuso.

1 Q. You have a deposition from Dr. Villasuso?

2 A. Yes, I do.

3 MS. ANDERSON: Sorry about that.

4 BY MR. MC MAHON:

5 Q. Okay. You've reviewed all those materials
6 prior to your deposition today then?

7 A. Yes, I did.

8 Q. Doctor, when were you first contacted in this
9 case?

10 A. Some years ago. I can't remember exactly
11 when. '92, '93 sometime.

12 Q. Was that by Ms. Anderson?

13 A. No.

14 Q. Who were you first contacted by?

15 A. I believe it was by you.

16 Q. Ms. Yokoyama?

17 A. Right.

18 Q. Would that have been in '92 or '93?

19 A. Sometime then.

20 Q. Did you consult with Ms. Yokoyama on this
21 matter?

22 A. I reviewed something for her and discussed
23 something with her, but I haven't talked to her since
24 then.

1 Q. Do you have any recollection of the
2 conversation?

3 A. No, I don't.

4 Q. Did you make any notes as to those
5 conversations?

6 A. No.

7 Q. Were you paid by Ms. Yokoyama for your
8 consultation?

9 A. Yes, I was.

10 Q. How much were you paid?

11 A. I don't remember how much time I spent
12 reviewing the case at that time.

13 Q. Do you have billing records, Doctor, that
14 would indicate that?

15 A. I could find that, yes.

16 Q. When was the next contact you had in regards
17 to this matter?

18 A. I believe it was from Ms. Anderson.

19 Q. How long after your consultation with
20 Ms. Yokoyama was that?

21 A. I don't remember.

22 Q. Was it within a matter of months?

23 A. I think so.

24 Q. Do you know how Ms. Anderson was referred to

1 you?

2 A. No, I don't.

3 Q. Were you retained by Ms. Anderson as an
4 expert at that time?

5 A. I was asked to review the records and
6 retained as an expert, yes.

7 Q. What does your retainer agreement consist of?

8 A. I charge \$200 an hour to review the case and
9 \$250 an hour for deposition.

10 Q. Exclusive of the time that you reviewed
11 materials for Ms. Yokoyama, how much time have you
12 spent in regards to this case subsequent to your
13 retainer of Ms. Anderson?

14 A. Approximately five hours. I'm not absolutely
15 sure about that.

16 Q. Doctor, was there a reason why you were not
17 retained by Ms. Yokoyama?

18 A. I have no idea. I discussed it with her, and
19 I never heard back from her, so I don't know.

20 Q. Doctor, do you recall whether your opinions
21 were favorable or unfavorable to Dr. Villasuso in this
22 matter?

23 A. They're favorable from my standpoint as I was
24 reading records now, so it must have been favorable

1 then, too.

2 Q. Doctor, can I take a quick look at your
3 records?

4 A. Sure.

5 Q. Can you tell me, Doctor, have you made any
6 marks in these records?

7 A. I don't believe so.

8 Q. Do you use any highlighter or post-it notes
9 or anything like that when you're looking through
10 records?

11 A. No.

12 Q. Do you have any notes that you took in
13 regards to these records?

14 A. Yes, I do.

15 Q. Is this the sum total of the notes you've
16 taken in regards to this case?

17 A. That's it.

18 Q. Do you have any other types of record or
19 memoranda at all in regards to this case?

20 A. No.

21 Q. You don't have any types of notes in regards
22 to telephone conversations you made in this case?

23 A. No.

24 Q. Doctor, when did you prepare these notes?

1 A. When I went through the records.

2 Q. Was that the first time you went through the
3 records for Ms. Yokoyama, or was it the second time you
4 went through them for Ms. Anderson?

5 A. Probably a combination of the two. I don't
6 remember the timing exactly as to how that came about.

7 **MR. MC MAHON:** Why don't you mark these as Exhibit
8 2.

9 (Whereupon Littooy Deposition
10 Exhibit No. 2 was marked for
11 identification.)

12 **BY MR. MC MAHON:**

13 Q. Doctor, do you have any other materials
14 contained in your file other than these records and
15 those notes?

16 A. No. This is correspondence primarily from
17 Ms. Anderson -- I'm sorry. There is one other thing,
18 summary judgment.

19 Q. Can I take a look at the correspondence,
20 Doctor?

21 A. Sure.

22 Q. Doctor, you have a letter contained in your
23 file dated December 9, 1992, from Lori Yokoyama?

24 A. Yes.

1 Q. Doctor, would it be fair to say that that is
2 the first contact that you had in regards to that case,
3 somewhere on or around December 1992?

4 A. I think so. I have to look at the timing
5 from Ms. Anderson. I don't remember exactly. It was
6 fairly close I think.

7 Q. Doctor, you were sent a number of materials
8 from Ms. Yokoyama, is that correct?

9 A. I believe so, yes.

10 Q. Did you receive additional materials from
11 Ms. Anderson?

12 A. Yes, I've gotten depositions from Hanson and
13 Sumyk. And maybe -- I can't remember whether -- and
14 the summary judgment.

15 Q. You weren't sent doubles of those records?

16 A. No.

17 Q. You had some records already, and you
18 received some additional materials?

19 A. I believe that's correct.

20 MS. ANDERSON: You may want to look through the
21 correspondence.

22 THE WITNESS: I will do that and see, because in
23 her correspondence she's listed things she sent to me.

24 BY MR. MC MAHON:

1 Q. Why don't you take a look. Is this the only
2 correspondence you received, Doctor? I don't see any
3 letter from him.

4 A. There's a letter in here, too. This is the
5 deposition date. This is all I have. As far as I
6 know, that's all I have.

7 Q. That's the only correspondence you're aware
8 of?

9 A. That's all I'm aware of.

10 Q. Doctor, there is a letter you had dated April
11 21, 1993?

12 A. Yes.

13 Q. That's from you to Ms. Yokoyama?

14 A. Yes.

15 Q. You have a bill for \$225?

16 A. Correct.

17 Q. Would that have been the sum total of your
18 bill?

19 A. Yes.

20 Q. As to Ms. Yokoyama?

21 A. Yes.

22 Q. Doctor, in that April 21, 1993, letter it
23 says that the checks are to be made out to Fred
24 Littooy, M.D., Ltd. Are you a limited partnership?

1 A. Yes.

2 Q. Are there any other entities that you have?
3 Are you a corporation of any sort?

4 A. I'm just a sole corporation.

5 Q. Doctor, as a sole corporation, is that how
6 you received all of your income, from that sole
7 corporation?

8 A. The income from my practice at Loyola, yes.

9 Q. What about your consultation on legal
10 matters?

11 A. Yes.

12 Q. Doctor, I'm showing you what's previously
13 been marked Exhibit 2 for identification.

14 Do you recognize those documents?

15 A. Yes.

16 Q. What do you recognize those to be?

17 A. Those are my notes from reviewing the case.

18 Q. Doctor, they're difficult to read, so I would
19 just ask if you could go through them so that I can
20 understand what they say.

21 A. Hanson versus Villasuso. 56-Year old, on
22 6-4-90 presented with a right fifth toe trauma with
23 gangrene. 8-5-90 consulted Villasuso. Glucose was 200
24 at that time. No history of diabetes. No history of

1 claudication. Examination of his pulses on the right
2 side show the femoral to be four plus, the popliteal
3 dorsalis petis and posterotibial were absent.

4 On the left femoral was four plus. The
5 popliteal was described as palpable. The dorsalis
6 petis described as palpable, no posterotibial.

7 An arteriogram showed a right SFA occlusion
8 at Hunter's Canal. Tibial level disease bilaterally.
9 The right interior tibial was occluded. Questionable
10 posterotibial occluded at the ankle on 8-9-90 at a
11 right femoral above knee popliteal gortex under general
12 anesthesia. Angioscope was used. Debrided the right
13 fifth toe.

14 8-31-90 right fifth toe, metatarsal
15 phalangeal amputation, which was closed. On Timentin
16 for antibiotic coverage. 11-13-90 decompressive
17 laminectomy. 11-21-90 removal of the infected fem pop
18 graft at, probably, surgery. Graft was occluded.
19 Arteriotomies were closed with Prolene. On 11-28-90
20 right above-knee amputation.

21 Then on the next side Villasuso -- those are
22 from his office notes. 8-9-90 fem pop. 8-13 in the
23 office gangrene, fifth toe well-limited.

24 8-31 amputation. 9-4 office, cellulitis.

1 Cipro started. 9-11 in the office, improved, staples
2 out. 9-25 abscessed right foot, incision and drainage.

3 9-27, I believe it is, office, better. 10-2,
4 wound okay. 10-9, debrided. 10-23, debrided. 10-31
5 much better. Return to clinic in three weeks.

6 Then notes from Pina Patino. 6-26-90, right
7 fifth toe subungual hematoma, drained it. 8-2-90,
8 right fifth toe tender, painful. Tender and painful
9 again, I guess.

10 8-4-90 right SFA occlusion diagnosed.

11 Recommend fem pop. 8-24-90 right fifth toe, no
12 cellulitis on Cipro.

13 10-23-90 lost 20 pounds. Ordered CBC and
14 SMAC, S-M-A-C. Foot healing, secondary to toe
15 amputation. 11-11-90 acute low back pain with lower
16 extremity weakness, admit.

17 The second card, admitted to Westlake 8-7-90.

18 Fasting blood sugar 171 while on IV. Dextrose will
19 follow. X-ray of the foot, suspicious for osteodistal
20 phalanx. 8-9-90 fasting blood sugar 134 on IVs.

21 Discharged 8-14-90. On Cipro. Cellulitis of
22 the toe improved. Discharged on a 2,000 calorie ADA
23 diet. Termed a borderline diabetic. No glucose in the
24 urine. Toe amp. Did sign a consent for amputation of

1 the fifth toe. Done as an outpatient.

2 Q. Doctor, would it be fair to say that the
3 notes you took are notes that you considered to be
4 important to your forming opinions in this case?

5 A. Yes.

6 Q. Doctor, do you have an opinion as to whether
7 Ken Hanson is a diabetic today?

8 A. Yes.

9 Q. Doctor, do you have an opinion as to whether
10 Ken Hanson was a diabetic on June 4, 1990?

11 A. Probably was.

12 Q. Doctor, what symptoms are indicative of
13 diabetes?

14 A. They can be polyuria, basically increased
15 glucose in the urine. Some have to go more frequently.
16 They can actually have extra hunger because of it and
17 having to go to the bathroom more often at night.
18 Things like these can be kind of early signs.

19 There can be other things that can actually
20 come in, hyperglycemic shock. They can sometimes
21 present with infections that you wouldn't expect
22 because they don't heal well, particularly in the lower
23 extremities. There's a lot of ways they can present.

24 Q. Doctor, what about a problem with distal

1 pulses, if they were reduced or absent?

2 A. It can be atherosclerosis. It can be the
3 diabetes. More likely the atherosclerosis.

4 Q. That's consistent with diabetes?

5 A. A diabetic has a risk factor for
6 atherosclerosis.

7 Q. Doctor, do you have an opinion as to the
8 cause of Mr. Hanson's atherosclerosis?

9 A. Aging, smoking, diabetes.

10 Q. Would the fact that Mr. Hanson was a smoker
11 have been information that would have been important to
12 you if you were seeing Mr. Hanson on June 4, 1990?

13 A. Sure.

14 Q. Doctor, are you familiar with the standard of
15 care for a general surgeon?

16 A. Yes.

17 Q. Are you familiar with the standard of care
18 for an internist?

19 A. Not entirely, no.

20 Q. Do you have any training in that regard as to
21 an internist?

22 A. No.

23 Q. Doctor, would you be qualified to be a
24 general practitioner in medicine?

1 A. No.

2 Q. Why is that?

3 A. I would have to go back and do some
4 refreshing just because I haven't dealt with the broad
5 scope of general practice for 18 years.

6 Q. Doctor, do you have an opinion as to what the
7 standard of care would have required as to the
8 examination of Ken Hanson on June 4 of 1990?

9 A. It depends. He had had trauma. As I
10 understand it, he had evidence of a trauma in his toe.
11 There is no evidence at that time that he had diabetes.
12 And I think the examination would have been a local
13 examination looking for any evidence of infection at
14 the area, what the extent of the trauma was, how he was
15 responding to the trauma in terms of healing that, and,
16 perhaps, an examination of his pulses if it was felt to
17 be necessary.

18 Q. I'm sorry. What was your last --

19 A. Perhaps an examination of his pulses if he
20 felt it to be necessary.

21 Q. Doctor, do you know if an examination of
22 Mr. Hanson's pulses were done on June 4, 1990?

23 A. I do not.

24 Q. You don't know one way or the other?

1 A. I don't know.

2 Q. Doctor, if they weren't done, would that be a
3 deviation from the standard of care?

4 A. Not necessarily.

5 Q. Can you explain that answer?

6 A. Again, it depends on maybe -- if the doctor
7 knew the patient, maybe he knew what the pulse status
8 was. In looking at the foot, there are other things
9 you look at besides pulses to determine whether there
10 was vascularization of the foot, whether this just
11 appeared to be a local problem. He had no previous
12 history that would go along with vascular disease such
13 as claudication.

14 Q. Doctor, would an initial examination of Ken
15 Hanson on June 4, 1990, require a determination as to
16 whether there's any ischemic changes in Mr. Hanson's
17 feet?

18 A. Yes,

19 Q. Are you aware of whether that was done?

20 A. I don't know.

21 Q. If that was not done, would you agree with me
22 that that would be a deviation from the standard of
23 care?

24 A. Well, any time you have an injury to the

1 foot, you should look for the potential for that injury
2 to heal, which would include the vascularity of the
3 foot.

4 Q. Doctor, you are familiar with Ken Hanson's
5 medical history, correct?

6 A. As it's in the medical record, yes.

7 Q. Is it your understanding that Mr. Hanson was
8 smoking on June 4 of 1990 -- was a smoker?

9 A. It's my understanding he was, yes.

10 Q. Would you have made efforts to rule out
11 whether or not Mr. Hanson was a diabetic on June 4,
12 1990?

13 A. Not necessarily.

14 Q. In what circumstances would you have done
15 that?

16 A. If he had given any history that would go
17 along with it. If he had a family history, if there
18 was some aspect of the healing of this wound that would
19 have, perhaps, alerted me or made me suspicious one way
20 or another.

21 Q. That's what I'm trying to get at, Doctor.
22 What are those things that would have made you
23 suspicious?

24 A. It's hard to know. When you see someone with

1 an injured toe, you don't work them all up for
2 diabetes. That's a long shot. I mean, you have to
3 kind of look at the patient, get the history from them,
4 go along with the injury.

5 Q. Would you agree with me, Doctor, that
6 Mr. Hanson's age would have been a relevant factor in
7 whether you would be looking for him to be a diabetic?

8 A. Not necessarily.

9 Q. Would you agree with me that a person who is
10 in their 50s is more likely to have late onset
11 diabetes?

12 A. Than who?

13 Q. Than persons under that age group?

14 A. Well, because they're earlier and not late,
15 yes, I guess that's true. Diabetes, in terms of adult
16 onset, tends to occur -- it's going to be a higher
17 percentage as patients get older.

18 Q. Doctor, would you agree with me that the
19 treatment which Mr. Hanson required as a diabetic is
20 different from -- as to his injured toe was different
21 from a person who is not a diabetic?

22 A. It's a little bit different. You have to be
23 more aggressive with it, yes. ✓

24 Q. Why?

1 A. Yes.

2 Q. Do you teach students at Loyola in regards to
3 femoral popliteal graft operations such as what was
4 done on Mr. Hanson?

5 A. Yes.

6 Q. What texts do you use to teach those matters?

7 A. I use my experience and my own know-it-all
8 for the most part.

9 Q. Do you use any texts?

10 A. Not specifically. We recommend texts, but I
11 don't use any specifically.

12 Q. Are you teaching any students right now?

13 A. Yes.

14 Q. What texts are you using?

15 A. The primary text we recommend is
16 Rutherford's.

17 Q. I'm sorry? Rutherford's?

18 A. Yes.

19 Q. That's the name of the author?

20 A. Yes, it is. He's the editor.

21 Q. What is the name of the text?

22 A. "Vascular Surgery."

23 Q. Do you consider Rutherford's to be
24 authoritative?

1 A. As much as any other textbook. It's a -- any
2 book is authoritative just in relation to the people
3 writing it. Everyone has their own opinions. There
4 can be more than one opinion about things.

5 Q. Doctor, would you expect that a person
6 qualified to do vascular surgery would be familiar with
7 the matters -- with the information contained within
8 Rutherford's?

9 A. It's a fairly broad question. Someone doing
10 vascular surgery should, yes, because it's a general
11 textbook on vascular surgery. They should have
12 knowledge on that.

13 Q. Doctor, are there any persons that you could
14 identify for me who are leading authorities on
15 performing femoral popliteal bypass grafts?

16 A. Numerous people have published on this.
17 Frank Veith is certainly one who has published a lot.
18 John Porter, Floyd Taylor at the same institution, Bob
19 Leather are people who are particularly known for their
20 interest in this area.

21 Q. What about in regards to treatment of an
22 infected graft? Are there any persons that are leading
23 authorities in the United States in that regard?

24 A. Not that I could look to, no.

1 Q. Doctor, could you tell me where these people
2 are located?

3 A. Frank Veith is at Montefiore in New York.
4 Porter and Taylor at the University of Oregon. Bob
5 Leather is in Albany.

6 Q. Doctor, would you agree with me that a
7 diabetic such as Ken Hanson would be more prone to have
8 a minor trauma in his foot become infected?

9 A. Well, he was not known to be a diabetic. He
10 had early onset diabetes at that point, in the very
11 early diagnosis, but even so he would be a little more
12 susceptible, yes, because of his diagnosis, plus in
13 addition his SFA occlusion.

14 Q. Would make him more susceptible?

15 A. Yes.

16 Q. Doctor, would it have been possible for Ken
17 Hanson's occlusion to have been diagnosed on June 4,
18 1990?

19 A. Possibly. I mean, I don't know when it
20 occurred. It could have occurred between June 4 and
21 his coming in in August, but more than likely it was
22 present at the time in June.

23 Q. When you say it was more than likely that it
24 was present at the time, can you explain that?

1 A. There is no reason that this appeared to be a
2 chronic occlusion when it was on the arteriogram, which
3 was done two weeks later. So more than likely it was
4 present at that point.

5 Q. What diagnostic methods could have been used
6 to diagnose that occlusion on June 4, 1990?

7 A. Physical exam.

8 Q. When you say physical exam, would the matters
9 you discussed earlier with regards to checking distal
10 pulses, taking a history -- were there any other parts
11 of the physical exam --

12 A. Examining the foot.

13 Q. As to ischemic changes, those types of
14 things?

15 A. Yes.

16 Q. Would you agree with me, Doctor, that
17 determining whether or not there is an occlusion is
18 something that does not require invasive techniques?

19 A. In general, that's correct.

20 Q. Doctor, are you aware of whether or not a
21 culture and sensitivity test was done on Mr. Hanson on
22 June 4, 1990?

23 A. No, I'm not.

24 Q. Do you have an opinion as to whether or not a

3 culture and sensitivity test should have been done on
4 Mr. Hanson as to that right fifth toe?

5 A. As I understand it, he had a subungual
6 hematoma. I don't think that I would necessarily have
7 wanted to do a culture at that time.

8 Q. Why is that, Doctor?

9 A. It's a simple trauma. As I understand it,
10 there was no evidence of infection. There is no real
11 reason to do a culture.

12 Q. It's your understanding that there was no
13 evidence of infection on June 4, **1990**?

14 A. As far as I know. I would have to see
15 exactly what the description of the foot was. My
16 understanding is it was a hematoma under the toenail.

17 Q. Would it change your opinion at all, Doctor,
18 if there was evidence of infection on June 4, **1990**?

19 A. Well, there has to be something to culture.
20 You can have the cellulitis, but there's nothing to
21 culture with it if there's no drainage -- no culture
22 drainage or an open wound.

23 Q. Is that a yes then, Doctor? It would change
24 your opinion if, in fact, there was something to
25 culture on June 4, **1990**? A culture and sensitivity
26 test is something that would have been appropriate?

1 A. I would have considered **it**. Again, you have
2 to look at the patient, look at the situation, but I
3 certainly would have considered **it**.

4 Q. Is there any danger to the patient, Doctor,
5 in doing a culture and sensitivity test?

6 A. No.

7 Q. Is **it** an expensive test?

8 A. I'm not exactly sure what they cost right
9 now. **It's probably \$100.**

10 Q. Doctor, was **it** a deviation from the standard
11 of care not to do a culture and sensitivity test on
12 June 4, 1990?

13 A. If there is no drainage, **it** was not a
14 deviation.

15 Q. Doctor, do you have an opinion as to whether
16 at any time in Mr. Hanson's treatment **it** was a
17 deviation from the standard of care not to perform a
18 culture and sensitivity?

19 MS. YOKOYAMA: I would object to the form of the
20 question.

21 THE WITNESS: That's a very long treatment. You
22 have to give me more specifics.

23 BY MR. MC MAHON:

24 Q. Doctor, when should Mr. Hanson have been

1 scheduled for a follow up after June 4, 1990, if at
2 all?

3 A. Well, I would think within a week or two. I
4 mean, you want to see the results of draining a
5 subungual hematoma and make sure his toe is advancing
6 properly in terms of healing.

7 Q. Doctor, would you agree it would be a
8 deviation from the standard of care not to follow up
9 within a week or two with Mr. Hanson's presentation on
10 June 4, 1990?

11 MS. ANDERSON: I want to state an objection. I
12 believe that you've already established that
13 Dr. Littooy is not familiar with the standard of care
14 for a GP or internist.

15 You can go ahead and answer, obviously, over
16 my objection.

17 BY MR. MC MAHON:

18 Q. Can you answer?

19 A. I know, in general, if you have a problem
20 that you have taken care of that you would like to see
21 the results of it, whether it's by phone, some
22 communication with a patient -- if you're comfortable
23 doing it by phone. But there should have been some
24 sort of follow up, yes.

1 Q. Doctor, is there any difference between the
2 standard of care required in the treatment of
3 Mr. Hanson between a vascular surgeon and a general
4 practitioner?

5 A. With regards to his foot?

6 Q. Correct.

7 A. No.

8 Q. Would it be also be true in regards to
9 diagnosing the occlusion in his right leg?

10 A. A general should be able to diagnosis that,
11 but certainly a vascular surgeon is going to be more
12 attuned to it.

13 Q. Doctor, what's your understanding as to when
14 Mr. Hanson was first put on antibiotics?

15 A. I think -- as I remember, I think it was
16 around the 1st of August. I could be wrong about that.
17 But I think it was at the time he was seen, and it was
18 noted that the toe was getting worse. I believe they
19 started Cipro at that time. I think he actually
20 started before that, though. I think he started Cipro
21 before he even came to the hospital, like on the 4th or
22 5th or something like that.

23 Q. Sometime in August?

24 A. Yes. Certainly he was on it in the hospital,

1 but I think he was actually started before he came into
2 the hospital.

3 Q. Do you have an opinion as to whether
4 Mr. Hanson required antibiotics prior to August of
5 1990?

6 A. I don't believe that he did. In terms of
7 having been seen in June, there was no evidence that he
8 needed it then. When he was seen again in August, I
9 think it was evident that he had an infection of the
10 toe and he needed to be on antibiotics.

11 Q. Would you agree with me, Doctor, that if
12 follow up had been done on Mr. Hanson, that the
13 infection might have -- or could have picked up sooner?

14 MR. BALOS: I'm going to object for lack of a time
15 frame.

16 BY MR. MC MAHON:

17 Q. Prior to August of 1990.

18 A. Obviously it developed sometime between June
19 and August. The question is when. Whether it would
20 have been noted on follow up or whether it would have
21 occurred between the last time he had seen him and the
22 next time seeing him in August, I can't tell you that.
23 He might have been seen in the middle of July, and it
24 might have been fine. It might have occurred between

1 that and when he was going to be seen again. I can't
2 answer.

3 Q. Would you agree with me, Doctor, that that is
4 why it's necessary to schedule follow-up visits,
5 because of the possibility of infection --

6 A. Initially, it's to make sure that it's
7 healing correctly, because there was no evidence of
8 infection at that point in time. Certainly infection
9 is something that might occur as part of that follow
10 up. The main thing is follow up with the treatment
11 that you have done, which is drain the subungual
12 hematoma.

13 Q. Doctor, what is your understanding as to when
14 the first time that Mr. Hanson was noted to have
15 gangrene in the right fifth toe?

16 A. I believe the first time it was noted was
17 when he was seen in August.

18 Q. Doctor, if gangrene was noted prior to August
19 of 1990, would that change any of your opinions in
20 regards to when Mr. Hanson needed antibiotics?

21 A. No, because you have dry gangrene, which does
22 not require antibiotics.

23 Q. Doctor, do you know prior to August of 1990
24 whether Mr. Hanson had dry gangrene or wet gangrene?

1 A. No, I don't.

2 Q. What's the difference between dry gangrene
3 and wet gangrene, Doctor?

4 A. Dry gangrene there is no evidence of
5 infection. It's wet gangrene that indicates either
6 some drainage or surrounding cellulitis associated with
7 the gangrene.

8 Q. Did you note anywhere in the record, Doctor,
9 that Mr. Hanson was noted to have cellulitis?

10 A. He was noted to have cellulitis on his
11 admission to the hospital.

12 Q. What date was that?

13 A. The 5th or 6th, whenever he came in. I can't
14 remember what date that was. I can't remember when he
15 was admitted. I think it was on the 5th. He was
16 actually admitted the 7th, but he was seen, I believe,
17 on the 5th. 8-5.

18 Q. Doctor, would you agree with me that in light
19 of Mr. Hanson having a gangrenous toe in August of
20 1990, that that would have been something that a doctor
21 treating him prior to August of 1990 would have been
22 able to note?

23 A. Gangrene can develop almost overnight in some
24 of these patients. So he might have at least seen some

1 progression of problems in the toe if he would have
2 been followed up, but I can't tell you that. It can
3 develop very rapidly in these patients.

4 Q. Is that true for dry gangrene as well as wet
5 gangrene?

6 A. Well, gangrene goes through stages. You have
7 initial discoloration, it's kind of bluish, cyanotic,
8 then it goes on to gangrene. That change or that
9 staging can take very low amounts of time depending on
10 the situation.

11 Q. Is it your testimony, Doctor, that that can
12 take place as fast as overnight?

13 A. Almost as fast as overnight. You go from not
14 hard gangrene, but certainly gangrenous changes on the
15 surface.

16 Q. I just want to be clear on this point,
17 Doctor. Are you saying that it would be possible for a
18 gangrenous process to develop within a 24-hour period
19 such that a doctor would not be able to identify it if
20 he had not seen that patient within a 24-hour period?

21 A. The process that is going on to gangrene and
22 nonviability can occur in less than 24 hours. The
23 process of nonviability and gangrene can develop within
24 24 hours, yes.

1 Q. Do you have an opinion as to how long
2 Mr. Hanson's gangrenous process took?

3 A. No, I don't.

4 Q. You do not see anything in the medical
5 records indicating whether or not Mr. Hanson's
6 gangrenous process had taken long to develop?

7 A. No, there was very little in the history as
8 to the development of this thing, just that he
9 presented with a bluish-black toe.

10 Q. Doctor, what is your understanding as to the
11 number of either consultations over the phone or actual
12 visits with the doctor that Mr. Hanson had between
13 June 4 of 1990 and August of 1990?

14 A. I would have to look at the records again. I
15 can't remember.

16 Q. Why don't you do that, Doctor?

17 MR. BALOS: I'm going to object. You're not
18 specifying -- I don't know if you said doctor -- or
19 which doctor you're talking about.

20 MR. MC MAHON: I didn't.

21 MR. BALOS: If the doctor can answer the question,
22 he can answer, but I think it's vague.

23 THE WITNESS: The only records I have are those of
24 Dr. Pina seen on the 26th of June. The next time he

1 was seen was the 2nd of August. That's all I know
2 about it.

3 BY MR. MC MAHON:

4 Q. Doctor, what does the 6-26 note indicate?

5 A. Pain in the right fifth toe after hitting it,
6 noted a subungual hematoma with tenderness, took an
7 X-ray, drained the hematoma, some sort of dressing.
8 And that's the extent of the notes.

9 Q. Doctor, what does draining the hematoma --
10 what would that involve?

11 A. It depends. If it's under the nail,
12 sometimes they have to actually take like a pin, if you
13 will, and heat it, and pull it right through there so
14 it relieves the pressure.

15 Q. Doctor, if there was a drainage done on
16 June 26, 1990, would that have been material which
17 could have been used in a culture and sensitivity test?

18 A. I can't say that it couldn't have been, but
19 there was no evidence that it needed to be done. There
20 was no evidence of infection.

21 Q. I just asked you if that would be, Doctor, a
22 material that could be used --

23 A. If there was enough of it, it potentially
24 could have been, yes.

1 Q. Doctor, on the June 26 visit, do you have an
2 opinion as to when it would have been appropriate for
3 Mr. Hanson to have been seen in follow up?

4 A. Again, if it was just a pure subungual
5 hematoma and he knew the patient or was comfortable
6 with a patient -- as I say, there should have been at
7 least a follow-up phone call. Maybe that's all that
8 would have been necessary. There should have been some
9 sort of follow up within a week I would say.

10 Q. You're saying within a week?

11 A. Within a week, yes.

12 Q. What about in regards to, Doctor, a follow up
13 in which the doctor would be required to visually
14 inspect the toe --

15 A. As I say --

16 Q. You have to let me finish the question. As
17 it presented on June 26?

18 MR. BALOS: Can you repeat the question.

19 (Record read as requested.)

20 THE WITNESS: Again, you have to individualize
21 each patient. Potentially, he didn't have to be seen
22 at all. You have to make a decision based on your best
23 judgment after seeing that patient if you need a visual
24 follow up.

1 BY MR. MC MAHON:

2 Q. Doctor, you stated earlier that as part of a
3 physical examination it's proper to check for the
4 distal pulses, correct?

5 A. Yes.

6 Q. As well as checking for ischemic changes in
7 the foot?

8 A. Yes.

9 Q. Doctor, if those distal pulses were done on
10 June 26, 1990, and indicated the same results which
11 were found on August 5 of 1990 at Westlake, in that
12 circumstance, Doctor, what would have been the
13 appropriate follow-up treatment for Mr. Hanson?

14 A. He should have had a visual follow up under
15 those circumstance.

16 Q. When should that have occurred?

17 A. Again, depending on the situation, within a
18 week at least probably.

19 Q. Why would you need a visual follow up in that
20 circumstance?

21 A. Because you've had trauma and a potential
22 that it's not going to heal -- or rather it's going to
23 progress in some way.

24 Q. Doctor, would you have been suspicious of

1 Mr. Hanson being a diabetic on June 4 of 1990?

2 A. No.

3 Q. Why is that?

4 A. No reason to be. He had no history. He just
5 injured his toe. His trauma wasn't an acute onset out
6 of the blue. If it was something out of the blue, then
7 I would have. But he's actually had trauma to the toe,
8 so I would have figured that's what it was.

9 Q. Doctor, do you know whether or not
10 Mr. Hanson's family history for diabetes was inquired
11 into?

12 A. I don't know that. There is no documentation
13 into it, so I can't say.

14 Q. Would you consider it to be a deviation from
15 the standard of care not to inquire into that in
16 regards to persons such as Mr. Hanson presenting on
17 June 4 of 1990 -- to inquire into that history?

18 A. I don't think that's a deviation not to ask
19 that, no.

20 Q. That's true, Doctor, even though you stated
21 that the treatment for a person with diabetes in
22 regards to Mr. Hanson's injury as presented on June 4,
23 1990, would be different?

24 A. He had no history, nothing going on to

1 indicate he had diabetes. He was not a known diabetic.
2 He had trauma to a toe. They looked at it. They
3 thought it was straightforward, relieved with a
4 subungual hematoma. It's fairly straightforward --

5 Q. Your testimony today is that in regards to a
6 person such as Mr. Hanson presenting on June 4 of 1990
7 it is not a deviation from the standard of care to fail
8 to rule out whether the person is a diabetic or not?

9 A. Again, it's an individual thing. I mean,
10 you're looking at trauma to a toe. If it's a localized
11 trauma and seems to be related to the trauma, there is
12 no evidence of infection, you don't necessarily have to
13 go through the whole thing. Even if he has a family
14 history of it, it doesn't necessarily mean that he has
15 diabetes.

16 Q. Doctor, what is your understanding of when
17 Mr. Hanson was diagnosed as being a diabetic?

18 A. When he was in the hospital.

19 Q. Which date?

20 A. When he was in in August.

21 Q. Doctor, do you have an opinion as to whether
22 a determination could have been made as to ruling out
23 whether or not Mr. Hanson was a diabetic prior to
24 August of 1990?

1 A. You could with anybody. You could take
2 anybody in this room and give them a glucose tolerance
3 test. You're not going to go in and have everybody
4 take a glucose tolerance test to rule --

5 Q. When would you run somebody through a glucose
6 tolerance test?

7 A. If you suspected they had diabetes, if there
8 was some reason to suspect they had **it**.

9 Q. What would make you suspect that, Doctor.

10 A. I've gone through this many times. If they
11 presented with polyuria, polydypsia, polyphagia. If
12 they had some evidence of other aspect of it such as a
13 urinalysis that showed glucose in their urine, things
14 like that.

15 Q. Doctor, all those things you're saying,
16 polyuria -- those all require a urinalysis or a blood
17 test, correct?

18 A. They should, yes.

19 Q. Doctor, do you have an opinion as to whether
20 a blood test or urinalysis should have been done on
21 Mr. Hanson prior to August of 1990?

22 A. There is no evidence that this should have
23 been, no.

24 Q. What's the basis for that opinion, Doctor?

1 A. If those things were present, as you stated
2 them, it's a total aside and totally different patient,
3 yes, I would do that. I would consider diabetes as
4 something you should look into as part of his
5 situation.

6 Q. Doctor, under the scenario as we have stated,
7 would it be a deviation from the standard of care not
8 to perform some type of blood testing or urinalysis to
9 rule out diabetes?

10 MS. YOKOYAMA: Same objection with regard to facts
11 not in evidence.

12 THE WITNESS: If you have all those things put
13 together, you're going to be evaluating the patient in
14 a different way, and you will be looking at a lot of
15 different factors, which would include fasting blood
16 sugar in the urinalysis.

17 BY MR. MC MAHON:

18 Q. I'm sorry, Doctor. I don't mean to press you
19 on this, but, again, you weren't answering my question.

20 I asked you did you have an opinion as to
21 whether it would be a deviation from the standard of
22 care not to perform the blood testing and urinalysis
23 under the facts that I gave you. You said that you
24 would do it, correct? You would do a blood testing and

1 urinalysis. What I'm asking is if you were aware of
2 all those things on June 4 of 1990, would it be a
3 deviation not to rule out diabetes?

4 A. As part of his workup. I don't know whether
5 I would say I think for sure he's got diabetes mellitus
6 or not and just be part of the workup.

7 Q. I don't understand what you mean, Doctor, by
8 "part of the workup"?

9 A. Well, I mean, you would be getting these
10 things as -- with a guy like this, you would be doing a
11 lot more work up. You'd be doing an evaluation of
12 things to the point where you might be considering
13 hospitalizing the patient or doing something more
14 aggressive which would include blood testing and urine
15 testing. Obviously considering diabetes is part of the
16 picture.

17 Q. So, Doctor, you would agree with me that if
18 the information which I gave you was known on June 4,
19 1990, Mr. Hanson may have needed to have been
20 immediately hospitalized?

21 A. No, not immediately hospitalized, but he
22 would have had to be monitored more carefully as I've
23 already explained earlier in consideration that this
24 could progress or run a different course than just a

1 simple drainage of a subungual hematoma.

2 Q. Doctor, in regards to if that information was
3 known on June 4 of 1990, would that change your opinion
4 in regards to the number and type of follow-up visits
5 required by Mr. Hanson?

6 A. Yes, it would have.

7 Q. Can you tell me under those circumstances
8 what type of follow up and number and type of visit
9 would be required?

10 A. I think I've explained it several times.
11 Within a week, he should have been seen again, and that
12 would have been it. And then you determine at that
13 time if there's any change. Then it would have made a
14 difference as to whether you might want to do something
15 different. If he was making good progress, you would
16 just continue to follow up. If it was turning to
17 something different, then you might have to change your
18 course of treatment.

19 Q. I don't think we understood each other,
20 Doctor.

21 You had said before that if the information
22 which I told you before in regards to Mr. Hanson's age,
23 the ischemic changes in his foot -- do you recall that
24 information from my prior question?

1 A. Yes.

2 Q. You said if, in fact, you knew that, that the
3 number of frequency and type of follow-up visits
4 required for Mr. Hanson would be different from what
5 you had said earlier about the number of visits.

6 What I'm trying to do is to quantify, if you
7 can quantify for me, the number of follow-up visits and
8 the type of follow-up visits that would be required
9 under those circumstances?

10 MR. BALOS: Objection, you're mischaracterizing
11 the Doctor's testimony.

12 MS. YOKOYAMA: I would also object to the
13 question, because I think you're asking him to
14 speculate with regard to what the condition of the
15 gentleman's foot would have been on each and every
16 visit, which may impact upon his opinion as to how
17 often this patient should be seen. I think the Doctor
18 has just testified that under that scenario he would
19 have at least requested -- or he would have at least
20 had this patient come back for one follow-up visit,
21 which is different from his previous testimony based
22 upon the facts in this case.

23 MR. BALOS: Or a phone call.

24 BY MR. MC MAHON:

1 Q. Doctor, do you need the question read back to
2 you?

3 A. No, as you've stated it, I said I would see
4 him within a week, and I would make a determination at
5 that time how often he would need to be seen
6 thereafter.

7 a. I understand. You're saying you can't give
8 an opinion as to a greater frequency of visits, because
9 you would need to see what would happen after a week?

10 A. An individual patient, he's got a problem,
11 you have to look it and make a decision based on what
12 you see.

13 Q. Would you agree with me, Doctor, that under
14 the scenario that I gave you, that there would be a
15 greater likelihood that you would need increased visits
16 and follow up, including a visual inspection?

17 A. I can't say. I mean, as I say, you have
18 to -- each patient is different. I've seen diabetics
19 with problems like this that heal and others that
20 don't. If he was showing progress in a week, I might
21 have seen him another week later, maybe two weeks later
22 if he was better. If he was showing evidence going in
23 the other direction, I might have seen him the next day
24 or maybe hospitalized him. It just all depends on

1 what's happened within that week.

2 Q. Doctor, what's your understanding of the
3 nature of Mr. Hanson's infection in his right fifth
4 toe?

5 MS. YOKOYAMA: I object. At what point?

6 MR. MC MAHON: I'll strike the question.

7 BY MR. MC MAHON:

8 Q. Doctor, what is your understanding of when
9 Mr. Hanson developed an infection in his right fifth
10 toe?

11 A. Sometime before August 4.

12 Q. Are you able to give an opinion, Doctor, as
13 to how long that infection had been there?

14 A. I have no idea.

15 Q. Doctor, is it your understanding that
16 Mr. Hanson might or could have had osteomyelitis in the
17 right fifth toe in August of '90?

18 A. According to the X-ray, there was evidence of
19 potential or a suspicious area for osteomyelitis in the
20 distal phalanx of the fifth toe.

21 Q. Doctor, is osteomyelitis a serious infection?

22 A. Depending on where it is it can be.

23 Q. Do you have an opinion whether Mr. Hanson's
24 osteomyelitis was a serious infection?

1 A. I would say no.

2 Q. Why is that?

3 A. It could be handled so easily with a toe
4 amputation.

5 Q. As a clinical presentation in how it could be
6 dealt with, you're saying it's not serious.

7 What I'm getting at, Doctor, is, as a
8 general, untreated infection, is osteomyelitis a
9 serious infection?

10 MS. ANDERSON: Objection, asked and answered.

11 THE WITNESS: It depends on where it is. I mean,
12 if it's out in the toe, it's not a serious problem. If
13 it's in the tibia or the fibia or the femur or in the
14 spine, it's a very serious problem.

15 BY MR. MC MAHON:

16 Q. Why is that, Doctor?

17 A. Because of the difficulties in treating it
18 and the difficulties for it being -- the chronocrator
19 of it and the difficulties of -- depending on where it
20 is as to the other things that might be affected by it.

21 Q. Doctor, do you know how long it would have
22 taken for Mr. Hanson to develop osteomyelitis in his
23 right fifth toe?

24 MS. YOKOYAMA: I would object to the form of the

1 question with regard to osteomyelitis. I don't think
2 there's been any evidence whatsoever that on -- I don't
3 remember the date of the X-ray -- I think it was August
4 8 -- that this gentleman had osteomyelitis.

5 BY MR. MC MAHON:

6 Q. Doctor, what's your understanding of whether
7 or not Mr. Hanson had osteomyelitis in the right fifth
8 toe?

9 MR. BALOS: Asked and answered.

10 THE WITNESS: The only answer I have is an X-ray
11 was taken. There was an area that was called
12 suspicious. They recommended a bone scan to which
13 there was concern.

14 BY MR. MC MAHON:

15 Q. Doctor, assume for a minute for the purpose
16 of my question that Mr. Hanson did have osteomyelitis
17 in his right fifth toe. Doctor if, in fact, that did
18 exist on August 5 of 1990, how long would that
19 osteomyelitis have taken to develop?

20 A. It depends on how soon the bone was affected
21 by the underlying infection.

22 Q. How long could that take?

23 A. It could take a few days.

24 Q. What would be the long end? Is that the

1 short end, a few days?

2 A. I really can't answer your question to be
3 honest. It's some period of time. I can't tell you
4 what it is.

5 Q. Your understanding of the infectious process
6 would be that there would be a primary infection, and
7 the osteomyelitis would be secondary such that
8 underlying tissue would affect the bone, correct?

9 A. Not necessarily. Sometimes you can have
10 underlying trauma to the bone which begins the first
11 part of the infection, which then presents itself to
12 the outside.

13 Q. Doctor, do you have an opinion as to which of
14 those types of osteomyelitis -- assuming Mr. Hanson did
15 have osteomyelitis in August '90 -- he had? Was it
16 from the trauma, or would it have been from --

17 A. Trauma.

18 Q. Trauma? So if, in fact, he did have
19 osteomyelitis on August 5, 1990, it would be your
20 opinion it was caused from his initial trauma?

21 A. Trauma and infection of the toe.

22 Q. Doctor, how does a trauma to the bone cause
23 osteomyelitis independent of another infection?

24 A. Well, because you actually have a break in

1 the bone, you have trauma to the bone, which could get
2 secondarily infected, which could be from an outer
3 cause, be it a puncture wound, which led into the
4 thing. If you have a fracture, you could have it.
5 seeded from another area. So continuing trauma could
6 increase the potential for bacteria to set up in a
7 spot. There's a lot of ways it can occur.

8 Q. Doctor, would you agree with me that the
9 potential for Mr. Hanson developing osteomyelitis in
10 his right fifth toe was greater due to his diabetes
11 than it would have been if he was nondiabetic?

12 A. Yes.

13 Q. Doctor, what's your understanding as to the
14 first indication in the record that Mr. Hanson had an
15 occlusion of any sort?

16 A. When he was seen in August.

17 Q. That would be the August 5 visit?

18 A. I think there was something on August 2 or 4
19 that was an indication that he had a problem. August 4
20 for sure.

21 Q. What's your understanding, Doctor, of how
22 they determined that there was an occlusion?

23 A. Examining.

24 Q. Physical exam?

1 A. Yes.

2 Q. Doctor, was there anything in Mr. Hanson's
3 physical condition that would have -- strike that
4 question.

5 Doctor, is it your understanding then that a
6 consult was requested with Dr. Villasuso?

7 A. Yes.

8 Q. Do you feel that that was appropriate under
9 the circumstances?

10 A. Yes.

11 Q. Why would it be important to consult with
12 Dr. Villasuso under the circumstances?

13 A. He was a vascular surgeon. They were
14 concerned that the process was going to require an
15 amputation of his toe and whether it would heal because
16 of the occlusion in the artery. So he was asked to see
17 the patient.

18 Q. It's your understanding, Doctor, that
19 Dr. Villasuso was a qualified vascular surgeon?

20 A. It's my understanding.

21 Q. What is your basis for that understanding?

22 A. Just the fact that he's -- I think that he
23 does vascular surgery in his hospital and that he has
24 privileges to do vascular surgery and considered

1 qualified to do it.

2 Q. It's an assumption on your part because he
3 had performed the surgery?

4 A. Yes.

5 Q. Are you aware of whether or not Dr. Villasuso
6 is certified as you are to do vascular surgery?

7 A. Certified in what way?

8 Q. Where is his certification? I think you have
9 a certification of special qualifications in vascular
10 surgery by the American Board of Surgery?

11 A. It's not required to do that. It's for
12 surgery, which would be a vascular surgeon, but I don't
13 know whether he has that.

14 Q. You don't know whether or not he has that?

15 A. No.

16 Q. Doctor, what would be required to be
17 qualified to do vascular surgery?

18 A. He does vascular surgery on a regular basis
19 and has gotten results.

20 Q. You have to have some initial beginning,
21 though, Doctor, correct?

22 A. Well, the vascular training programs have
23 only been developed over the last several years. Prior
24 to that general surgeons did vascular surgery as part

1 of their training and part of their practice.

2 People who were trained in that way went out
3 and did both types of surgery. Those that did adequate
4 amounts and they had good experience were certainly
5 fully and adequately and competently trained and
6 experienced people to do vascular surgery.

7 Q. I see your certification in vascular surgery
8 was in 1983?

9 A. Yes.

10 Q. Do you know when that certification became
11 available?

12 A. 1982.

13 Q. Doctor, are you responsible at all at Loyola
14 University in regards to determining the qualifications
15 of persons for vascular surgery?

16 A. No.

17 Q. Have you ever held any position in that
18 regard?

19 A. No.

20 Q. When I ask that, I'm asking in regards to
21 along privileges at a hospital. You've never had any
22 function that regard?

23 A. No. The chief of surgery is the one who
24 allows privileges of the house staff in the chief of

1 staff's office.

2 Q. Are you aware, Doctor, whether Loyola
3 requires a certification such as you have in vascular
4 surgery prior to being given a privilege of doing
5 surgery at Loyola?

6 A. No, I do not.

7 Q. Would it be fair to say then, Doctor, for the
8 purposes of your opinions, you are assuming that
9 Dr. Villasuso was a qualified vascular surgeon?

10 A. I'm assuming that, yes.

11 Q. Doctor, in your notes, which are contained in
12 that Exhibit 2, you indicate a glucose of 200?

13 A. Yes.

14 Q. You circled that?

15 A. Yes.

16 Q. Why is that important?

17 A. Just because, as I said, he was diagnosed as
18 having diabetes during this hospitalization. That was
19 just one of the indicators of the increased glucose
20 that was noted during the hospitalization of the
21 diagnosis I would take it.

22 Q. Doctor, following that you say there is no
23 history of diabetes mellitus and no history of
24 claudication. Doctor, is claudication some type of

1 blockage in arteries?

2 A. No. It's a symptom of blockage of the
3 arteries, pain in the leg when you walk.

4 Q. How would a physician determine whether there
5 was claudication in the arteries?

6 A. Ask the question, whether a patient gets pain
7 in walking.

8 Q. Okay. So it's also a very simple matter as
9 far as making that determination?

10 A. Very simple.

11 Q. Doctor, would that symptom in regards to pain
12 while walking be different in a diabetic than in a
13 nondiabetic?

14 A. No.

15 Q. Would you agree with me, Doctor, that a
16 person with diabetes might have less pain in the lower
17 extremities?

18 A. In what regard?

19 Q. Doctor, in regards to a person who has
20 diabetes mellitus, is there any literature or anything
21 else within your background which would indicate that a
22 person having diabetes may have a neuropathy which
23 would cause less pain in the lower extremities than a
24 nondiabetic?

1 MS. ANDERSON: This is all diabetics?

2 MR. MC MAHON: Sorry?

3 MS. ANDERSON: This is all diabetics?

4 MR. MC MAHON: Right.

5 THE WITNESS: Well, that's two different things.

6 A neuropathy primarily affects the skin level. They

7 can get numbness of the skin, actually loss of

8 sensation in the foot. But in terms of the muscle

9 reaction to pain, it doesn't change that.

10 BY MR. MC MAHON:

11 Q. So would it be fair say you would have

12 expected Mr. Hanson to have had a history of

13 claudication prior to August 5, 1990?

14 A. Not necessarily. An SFA occlusion does not

15 necessarily lead to claudication. They can build up

16 their own blood supply around it and be adequate that

17 they don't get claudication, or they may not walk very

18 far at one time. Maybe he has a very sedentary life

19 and doesn't walk much.

20 Q. What about the fact that Mr. Hanson has

21 ischemic changes in his foot? Wouldn't that indicate

22 that there wasn't a sufficient collateral blood supply?

23 A. But still they may not have claudication,

24 because they may not walk that much.

1 Q. Going back to that initial visit in June of
2 1990, what prescriptive medicine or measures would you
3 have given Mr. Hanson to go home with?

4 MS. ANDERSON: I'm going to -- are you asking him
5 for a standard of care opinion, because what he does in
6 his personal practice is different than the standard of
7 care --

8 MR. MC MAHON: Are you saying what he does in his
9 personal practice is different --

10 MS. ANDERSON: What a doctor does in his personal
11 practice is not typically standard of care.

12 BY MR. MC MAHON:

13 Q. Okay. I'm asking in regards to what you
14 would do, Doctor?

15 MR. BALOS: Can we have that question --

16 THE WITNESS: I don't understand the question
17 because --

18 BY MR. MC MAHON:

19 Q. Let me ask you another question.

20 Doctor, you stated earlier that the standard
21 of care would have required Mr. Hanson to have followed
22 up within a week or two weeks subsequent to June 4,
23 correct?

24 A. Yes. June 26 I believe it is.

1 Q. Are there any other prescriptions or other
2 advice you would have given Mr. Hanson prior to going
3 home in regards to the treatment of his toe?

4 A. Just local care of his toe and foot
5 protection. That's about it.

6 Q. What about elevation of the foot?

7 A. No particular reason to elevate it, no.

8 Q. Would you have taken him off of work at that
9 time?

10 A. It would depend on the type of work he did
11 and the degree of the problem -- the local problem with
12 his toe. I would have to have seen him at the time to
13 make that determination.

14 Q. Doctor, taking a look at your notes again you
15 indicate tibial level something bilaterally?

16 A. Tibial level disease bilaterally.

17 Q. What is the significance of that?

18 A. It just means that there's occluded vessels
19 at the tibial level, which is more common in diabetics,
20 but it's mainly more just a description of what was
21 seen on the arteriogram, that there was evidence of
22 occlusive disease on those vessels on both sides.

23 Q. Would you agree with me, Doctor, that given
24 that indication that you would have been more

1 suspicious that Mr. Hanson was a diabetic at that
2 point?

3 A. This is when he was in the hospital, and it
4 was determined that he is diabetic.

5 Q. Doctor, what is your understanding as to when
6 the determination was made that Mr. Hanson was a
7 diabetic?

8 A. While he was in the hospital in August.

9 Q. I need you to be more specific than that,
10 Doctor.

11 A. During his hospitalization in August.

12 Q. On August 5 of 1990 when he has the glucose
13 level of 200 and the tibial level disease bilaterally,
14 do you believe that was sufficient to make a diagnosis
15 that he was diabetic?

16 A. Since single fasting blood sugar does not
17 make the diagnosis -- he could have just eaten. He
18 could have had glucose going in his IV. You would have
19 to know whether he was fasting, and you would have to
20 decide whether you're going to do a glucose tolerance
21 test. There are a lot of things that go into it. But
22 the determination was made during his hospitalization
23 that he was diabetic.

24 Q. I need you to be more specific, Doctor, as to

1 what understanding of what date that determination was
2 made.

3 A. I don't see what difference it makes if it
4 was made during that hospitalization. I don't think it
5 makes any difference at all.

6 Q. I'm sorry, Doctor, I ask the questions --

7 A. I have no idea. Probably the 5th, 6th, one
8 of those days. I don't know. Sometime when he was in
9 the hospital.

10 Q. The reason I ask that, Doctor, is because you
11 stated there are reasons to believe that he wasn't a
12 diabetic, correct, that he could have had problems with
13 his IV and glucose and things of that nature, is that
14 correct?

15 A. It's possible, yes.

16 Q. What basis do you have then to believe that
17 the diagnosis was made that he was diabetic on that
18 day?

19 A. He was put on an ADA diet. The notes in the
20 hospital said that they considered him to be a mild
21 adult onset diabetic, so I have to go on what the
22 doctors say.

23 Q. That was in early August prior to the bypass?

24 A. It was during that hospitalization. I would

1 have to look at the note and look at the date.

2 Q. I would like you to do that, Doctor.

3 A. On 8-9 I noted that his blood sugars were
4 remaining elevated every time they were done, so I
5 think they were probably fairly suspicious at that
6 point. So I would say probably on the 9th would be the
7 first time they were making that determination as far
8 as I can tell.

9 Q. Doctor, you indicated earlier that you would
10 have been suspicious of diabetes on August 5 of '90
11 with that elevated glucose level and with the tibial
12 level disease bilaterally, correct?

13 A. I can't tell you about the glucose levels. 1
14 don't know when that was drawn, but certainly with the
15 pattern of disease and the arteriogram I would have
16 been suspicious, yes.

17 Q. Doctor, do you have an opinion as to whether
18 or not Mr. Hanson needed to have measures performed
19 that would rule out whether or not he was a diabetic
20 prior to the bypass?

21 A. No.

22 Q. What's the basis for that opinion?

23 A. The treatment is the same. They put him on a
24 sliding scale in terms of treatment of the glucose. It

1 all has to do with how you control the glucose. They
2 knew it was elevated, so they were watching that. It's
3 a very simple procedure.

4 Q. Is it also true in light of the fact that
5 there was an indication in the record that he was
6 suspicious for osteomyelitis at that point. Would that
7 fact make it any different as to when diabetes needed
8 to be ruled on?

9 A. No. You're going to treat the osteomyelitis
10 the same.

11 Q. How do you treat osteomyelitis, Doctor?

12 A. It depends on where it is and what the
13 situation is. If it's a bone in the mid foot and
14 you're trying to save the foot, you may put him on a
15 six-week course of IV antibiotics and see if you can
16 get it to heal. If it's out on a digit, you're more
17 likely just to take the toe off, because it's a simple
18 procedure and they don't need the toe.

19 It kind of depends on where he is and what
20 the situation is for the particular patient and the
21 potential to heal it with IV antibiotics or not. If
22 not, then it usually has to be surgically debrided and
23 removed in addition to being on antibiotics.

24 Q. What type of antibiotic, Doctor? Would you

1 have any idea?

2 A. Generally, you would like to have a specific
3 for the particular bacteria that's in the
4 osteomyelitis. If it's somewhere that you can't get to
5 it, then you take your best guess as to what's growing
6 in it and put him on an antibiotic that you think will
7 cover it.

8 Q. What type of infection did Mr. Hanson have on
9 his right fifth toe when he presented on August 5,
10 1990?

11 A. What kind? I can't tell you. I don't know
12 what was growing from it.

13 Q. That's because there wasn't any determination
14 made as to what bug it was, correct?

15 A. As far as I know, there were no cultures
16 taken of that toe.

17 Q. Doctor, was that a deviation from the
18 standard of care, not taking a culture and sensitivity
19 to determine what bug was in Mr. Hanson's right fifth
20 toe at the time?

21 A. Well, the problem is all they had was a
22 surface scuff. You don't know what that is. That
23 could be a conglomeration of a whole bunch of things.
24 When it comes off the surface, it might not have been a

1 problem culture. So they used a broad spectrum
2 antibiotic which is very appropriate in a diabetic or
3 someone they considered to be diabetic.

4 Q. Doctor, is it your opinion that there was no
5 way for a culture and sensitivity test to be done on
6 the right fifth toe prior to the surgery, the bypass
7 surgery?

8 A. I can't tell you that exactly. All I know is
9 that there were gangrenous changes with some
10 cellulitis. I don't know whether there was actually
11 drainage or any stuff that could actually be cultured.

12 Q. Does the fact that there was cellulitis there
13 indicate there was live tissue that could be cultured?

14 A. Not necessarily, no.

15 Q. If, in fact, Doctor, there was some material
16 that could be cultured, would it be a deviation from
17 the standard of care not to culture that in determining
18 what that bug was prior to the bypass?

19 A. If there is was a definite discharge that
20 appeared to be infective or that you could easily
21 culture and that you felt was a true indicator of what
22 was going on in the wound, I would say you should
23 culture it, yes.

24 Q. Doctor, one of the reasons for that is

1 because there are methicillin resistant bacteria in
2 which only special types of antibiotics will properly
3 treat those, correct?

4 A. In general that's true, but someone off the
5 street very rarely has that infection. It's usually a
6 hospital-acquired infection.

7 Q. What is the proper treatment, Doctor, for a
8 methicillin-resistant infection?

9 A. Vancomycin.

10 Q. Doctor, do you have an opinion as to whether
11 Mr. Hanson required Vancomycin on August 5 of 1990?

12 A. I don't have an opinion on that, no.

13 Q. Is that because you don't have enough
14 information?

15 A. I don't have any culture to indicate that he
16 needed to be on that.

17 Q. Doctor, it's your understanding they started
18 Mr. Hanson on Cipro in early August of '90?

19 A. Correct.

20 Q. Do you think that that was an appropriate
21 antibiotic?

22 A. **Yes.**

23 Q. Why is that? What is Cipro supposed to
24 treat?

1 A. It's a broad spectrum. It's gram positive,
2 gram negative, which you can see in mixed infections in
3 his foot -- in foot problems.

4 Q. Do you know what dosage Cipro they put
5 Mr. Hanson on on August 5 of 1990?

6 A. I can't remember. I think it was 500
7 milligrams every six hours.

8 Q. Doctor, do you know what the maximum dosage
9 allowable is under the PDR for Cipro?

10 A. I don't know of€the top of my head, no.

11 Q. Can you give me your best understanding of
12 what a maximum dosage for Cipro would be?

13 A. I can't off the top --

14 Q. Would it be more than 500?

15 A. At the most I'm sure it would be a gram,
16 probably 500 to 1 gram, but I'm not sure.

17 Q. Doctor, generally speaking, would you agree
18 with me that an increased dosage of Cipro would be able
19 to deal better with an increased degree of infection?
20 Just generally speaking.

21 A. Well, that all depends. Your dosage depends
22 on the severity of the infection to some degree, and
23 this is isolated to the toe. And at this point in
24 time, I think a regular dose would have been

1 sufficient -- considered sufficient.

2 Q. When you say a regular dose, would 500
3 milligrams be a regular dose?

4 A. I would think so, yes. I would have to look
5 that up.

6 Q. Doctor, what is your understanding as to why
7 Cipro was prescribed?

8 A. For the exact reason I said about three
9 minutes ago. It's a broad-spectrum antibiotic, which
10 they felt that they wanted to use, because apparently
11 they didn't have anything to culture.

12 Q. I guess I'm getting at the more basic part of
13 that, Doctor. Is it your understanding that Cipro
14 would not be prescribed unless there was evidence of
15 infection?

16 A. Absolutely. I mean -- well, that's not
17 necessarily true. If there was some reason that they
18 felt they wanted to be prophylactic, but I think they
19 did it because they felt it was an infection, because
20 there was cellulitis evidence at the toe base.

21 Q. The fact that there was cellulitis was
22 indicating that there was an active infection that
23 needed to be treated?

24 A. Yes.

1 Q. It's your understanding that it wasn't to be
2 given as a prophylaxis, that it was being given to
3 treat the evidence of infection at the toe?

4 A. That's correct.

5 Q. It's your opinion that Cipro and the dosage
6 given was appropriate under those circumstances?

7 A. I believe it was, yes.

8 Q. Doctor, what would the standard of care
9 require in regards to the follow up as to whether the
10 Cipro was working or not?

11 A. Check the evidence of the cellulitis in the
12 toe and see if it's improving.

13 Q. How often would you do that?

14 A. If the patient is in the hospital, you're
15 going to see him on a daily basis.

16 Q. What if the patient was discharged?

17 A. Well, then you would have to determine at
18 that time the severity of the infection and the
19 severity of the problems and the local management of it
20 on how often you have to see them. And that would
21 depend, again, on the individual situation. It could
22 be on a daily basis. It could be twice a week. It
23 could be once a week.

24 Q. Doctor, you mentioned a couple of times that

1 amputating the right fifth toe is a fairly simple
2 solution. That's a vague question. I'll strike that.

3 Doctor, would it be fair to say that if
4 Mr. Hanson had presented to you on August 5, 1990, with
5 his history and problems that are identified --
6 symptomatology that are identified on August 5, that
7 you would have taken off the toe at that time?

8 A. No.

9 Q. When would you have taken off the toe?

10 A. After he had been revascularized.

11 Q. How soon after he was revascularized would
12 you have taken off the toe?

13 A. It would depend on the situation. If you
14 thought you could clear the infection, it would be best
15 to do that first, because then you could move your
16 amputation out more distally.

17 If the infection doesn't clear, you have to
18 do what's called an open amputation and allow the wound
19 to secondarily heal, which could take a prolonged
20 period of time.

21 If you are trying to do a primary operation,
22 which you remove the toe and close, you would like to
23 see the infection cleared first. Again, you have to
24 individualize this and look at the individual patient

1 and decide which course to take.

2 Q. Doctor, are you telling me you don't have
3 enough information before you as to whether you would
4 have done something different than what was done here?

5 MS. YOKOYAMA: I would show a continuing objection
6 to all these questions. You're asking the doctor what
7 he would have done, and I don't believe that's an
8 appropriate standard with regard to an expert in a
9 medical malpractice case. I think the proper form of
10 the question is what did the standard of care require,
11 not what this doctor individual doctor would have done.

12 BY MR. MC MAHON:

13 Q. Can you answer the question, Doctor?

14 A. As I see the question -- of course, I have
15 the whole course laid out in front of me. I know that
16 it improved with antibiotics, and it was totally
17 appropriate. If you tried antibiotics and things
18 weren't improving, then you would have had to do an
19 amputation earlier.

20 Q. It's your understanding, Doctor, from what
21 you see in the records that Mr. Hanson's infection
22 improved?

23 A. Yes.

24 Q. Where do you see that, Doctor?

1 A. It's stated, I think, just before his
2 discharge that cellulitis was improving.

3 Q. You have a note on your page 2 that says,
4 "Discharge 8-14-90 with Cipro, cellulitis, said toe
5 improved"?

6 A. Yes.

7 Q. Doctor, discharging Ken Hanson on 8-14 of
8 '90, when would the standard of care require that
9 Mr. Hanson be seen for follow up?

10 A. He should have been seen within a week.

11 Q. Would it be a deviation from the standard of
12 care not to see Mr. Hanson under those circumstances
13 within a week?

14 A. Yes, I think he should be seen within a week
15 to 10 days, something like that. He should be seen in
16 a fairly quick fashion after. He's got an incision on
17 his leg. He's got a toe that has improving cellulitis
18 that you have to be sure is continuing to improve. You
19 have to make decisions about when to take his toe off,
20 depending on how that progresses. You have to see the
21 patient to make those decisions.

22 Q. Doctor, the osteomyelitis that Mr. Hanson had
23 in his right toe, was that a stable condition, or was
24 it potentially progressive?

1 MS. ANDERSON: I'm going to object. That's a
2 misleading question, because it's already been
3 discussed in his deposition that osteomyelitis was
4 never formally diagnosed. It was a suspicion on the
5 X-ray.

6 BY MR. MC MAHON:

7 Q. Doctor, I want you to assume for the purpose
8 of my question that osteomyelitis was present. Can you
9 answer the question assuming that?

10 A. If you want to assume that it was, it
11 appeared he had a stable condition -- or improving
12 actually.

13 Q. What physical indications, Doctor, would
14 there be that an osteomyelitis is improving?

15 A. Cellulitis improving.

16 Q. That would also indicate the osteomyelitis
17 was improving, if it was there?

18 A. It means it's stable. You don't know if the
19 osteomyelitis is improving or just staying stable, but
20 the reaction to it in the surrounding tissue is
21 improving.

22 Q. Doctor, assuming that there was osteomyelitis
23 there, would that change the amount of time you would
24 have wanted to see Mr. Hanson for follow up, or would

1 you not have discharged him from Westlake?

2 A. The course they chose to take, I would have
3 discharged him, yes. I would not have changed the
4 number of times that I saw him.

5 Q. You would still want to see him within a
6 week, 10 days?

7 A. Yes.

8 Q. Doctor, are there any diagnostic methods to
9 determine whether or not osteomyelitis exists -- is
10 present?

11 A. There's X-rays that you can take that look at
12 cortical destruction. You can do nuclear medicine
13 scans. The problem is you have other inflammation
14 going on in that, too, so it would be localized there
15 so you couldn't tell if it was in the bones or whether
16 it was just in the tissues.

17 You can actually do an open biopsy, which you
18 certainly wouldn't do on his toe. He was going to take
19 it all off, so you wouldn't do a biopsy on it unless
20 you were going to try to save it and give him long-term
21 antibiotics, but that wasn't a concern. It's just a
22 localized problem at the tip of toe. And the bigger
23 problem is not the osteomyelitis, but just the
24 infection that can occur at the interface between the

1 gangrenous changes in the toe and the rest of the foot.

2 Q. Can you explain that for me, Doctor? Why
3 would that be important?

4 A. Well, because that's going to determine -- if
5 the infection spreads from the toe onto the forefoot,
6 then you may change the toe amputation to a forefoot
7 amputation. And if that spreads, you change that into
8 a full-knee amputation, et cetera, et cetera.

9 Q. Doctor, would you agree with me that it would
10 be important to determine the extent of osteomyelitis
11 in order to determine where the amputation might be
12 needed?

13 A. Well, they have determined that already by
14 the X-rays --

15 Q. Answer my question, Doctor. You're going
16 back to what they did. I asked you a question.

17 Why don't you read the question back for the
18 doctor.

19 (Record read as requested.)

20 THE WITNESS: Well, they did an X-ray. That's the
21 only extent that you're looking at.

22 BY MR. MC MAHON:

23 Q. I'm not asking what you did, Doctor. I added
24 specifically --

1 on that report to rule out osteomyelitis, whether or
2 not there is?

3 A. To rule it out?

4 Q. Yes.

5 A. There is nothing there to rule it out.

6 Q. Is there enough information there for you to
7 make the diagnosis that osteomyelitis existed based on
8 that?

9 A. I would say I'm suspicious, just like they
10 are. They're not coming out saying it for sure.
11 They're the ones that read these. I would have to go
12 along with their approach to it.

13 Q. Is there any diagnostic methods, Doctor, that
14 could make a more certain determination than that
15 X-ray?

16 A. Well, as I mentioned, it's a triple-phase
17 bone scan which is a problem here because of all the
18 other inflammation and it being such an isolated little
19 area. And there is an open biopsy that we've talked
20 about before. And the other would be a follow-up X-ray
21 and see if there is further destruction of the bone.

22 Q. Is it your understanding that any of those
23 measures were done in this case?

24 A. I don't believe they were.

1 Q. Do you have an opinion as to whether there
2 was a deviation from the standard of care not to do any
3 of those --

4 A. I do not believe it's a deviation, no.

5 Q. When you say it's not a deviation in the
6 standard of care, Doctor, is that true as well in
7 regards to whether or not Ken Hanson's toe needed to be
8 amputated or not in early August of **1990?**

9 A. I don't think it was a deviation from the
10 standard of care that his toe amputation was delayed,
11 no.

12 Q. Assume for the purpose of my question,
13 Doctor, a follow-up procedure had indicated a spreading
14 osteomyelitis. Would that change your opinion with
15 regard to whether or not Mr. Hanson needed an
16 amputation of the right fifth toe in early August of
17 **1990?**

18 MS. YOKOYAMA: I would object to the question. I
19 believe it's totally speculative. There are no facts
20 in evidence which would possibly support, first of all,
21 that there was an absolute osteomyelitis present during
22 his hospitalization or that it was spreading at any
23 time during his hospitalization.

24 BY MR. MC MAHON:

1 Q. Do you understand the question, Doctor?

2 A. Yes, I do. I didn't see any evidence that
3 there was such spreading, because it would be shown by
4 the evidence in the rest of the foot. Even if it did
5 further destruct the distal phalanx, it doesn't make
6 any difference in the treatment of the patient. What
7 matters is its affect on the foot.

8 Q. Let me make my question clearer.

9 Doctor, if a further diagnostic procedure
10 than was done here indicated -- I'm just asking you to
11 assume for the purpose of my question that there was
12 some further diagnostic procedure that showed an
13 osteomyelitis that was spreading into the foot, would
14 that change your opinion at all in regards to whether
15 or not Mr. Hanson required an amputation in early
16 August of 1990?

17 A. Well, this study was done on the fifth?

18 Q. Correct.

19 A. Osteomyelitis doesn't spread like that. It's
20 a slow process. It has to work into the bone. It
21 takes, at the least, several days to see much in the
22 way of changes. Sometimes you have to wait two weeks
23 to see what you may think clinically is an
24 osteomyelitis to see the changes on an X-ray. It's a

1 slower process. The actual evidence of any spreading
2 in the foot would be shown by what you see in the soft
3 tissues in the skin.

4 Q. Doctor, if osteomyelitis was present on
5 August 5 of 1990, would you agree with me then that was
6 an infection that had been there for some period of
7 time?

8 A. It could have been there for some period of
9 time, yes. Did it just happen overnight, no.

10 Q. Can you give an opinion within a reasonable
11 degree of medical certainty as to longest amount of
12 time that it would have been there?

13 A. I can't tell you that. Certainly several
14 days.

15 Q. Doctor, was there a clinical reason not to do
16 an amputation of the right fifth toe prior to the
17 bypass?

18 A. The problem with doing it prior to the bypass
19 is you're working through an inflamed area. You're
20 going to do actually an open amputation. You're going
21 to get necrotic skin or changes which then may -- even
22 with the revascularization -- require a more proximal
23 amputation, either of the metatarsal or -- which then
24 gives him a more unbalanced foot and more potential

1 problems, particularly being a diabetic. You would get
2 an unbalanced foot, that you get pressure points
3 elsewhere, which can lead to later problems. So you
4 like to minimize the extent of the amputation as much
5 as possible. If you keep it out to involve only the
6 phalanx, you're in pretty good shape. That's what I
7 would look at it and what Dr. Villasuso was attempting
8 to do.

9 Q. I'm not sure if you're agreeing with me or
10 not, then, Doctor. It sounds like we are in agreement
11 that it may have been a good thing for Mr. Hanson to
12 have his toe amputated?

13 A. No, I'm not saying that, because he had
14 cellulitis at the time. He would have had an open
15 wound which would have required a more proximal
16 amputation than he ended up finally having, and it
17 could have led to a bigger problem in his foot because
18 the period of time between the amputation and the time
19 you revascularize, you're going to get necrotic --
20 changes of the skin edges, and you're going to end up
21 having to take it further back.

22 Q. Doctor, when Mr. Hanson presents on August 5
23 of 1990, was it a forgone conclusion that he was going
24 to lose his right fifth toe?

1 A. Yes.

2 Q. Why was that?

3 A. It was gangrenous. It was going to
4 autoamputate or it was going to have to be amputated
5 primarily surgically.

6 Q. Autoamputate means it was going to fall off?

7 A. It was going to fall off.

8 Q. Doctor, in regards to the matters that you
9 stated would be problematic, in particular this
10 amputation prior to the bypass, which of those
11 potential complications would not have existed at the
12 end of August when the amputation was done?

13 A. Well, as I read the notes from Dr. Pina and
14 Dr. Villasuso, the infection cleared, and it was only
15 left with a distal gangrenous toe, which then allowed
16 the amputation in a relatively clean field at the MP
17 joint.

18 Q. Metatarsal phalangeal joint?

19 A. Yes.

20 Q. Doctor, what's your understanding as to how
21 the determination was made that the infection had
22 resolved prior to the amputation?

23 A. As I understand it, by looking at the
24 evidence for cellulitis and the skin changes that were

1 occurring at the time of the hospitalization which were
2 starting to improve and continuing to improve on follow
3 up.

4 Q. Doctor, would the standard of care have
5 required any follow-up X-ray or other diagnostic
6 procedures in regards to osteomyelitis prior to the
7 amputation on August 31 of 1990?

8 A. I don't think so.

9 Q. Doctor, might or could a further diagnostic
10 procedure have shown that the osteomyelitis had spread?

11 A. It could.

12 Q. But it's your testimony, as you sit here
13 today, that the standard of care did not require ruling
14 out that possibility?

15 A. No, because you're going to be -- number one,
16 it's remained limited to the toe. There has been no
17 evidence that it's spread, so it's there. You know
18 where it is.

19 Q. There is no evidence because they didn't
20 check, right, Doctor?

21 A. It's a limited process to the little toe. If
22 it has spread even to the next phalanx, it makes no
23 difference.

24 Q. How do you know whether it's spreading or

1 not, Doctor, without doing a diagnostic procedure?

2 A. You don't, but it doesn't make any difference
3 clinically.

4 Q. Please explain for me what you mean by that.
5 It doesn't make any difference clinically?

6 A. Because you're going to take this all off.
7 The spread would only be within this toe. It's going
8 out. It's gone. It doesn't matter.

9 Q. I see. What you're saying is that he's going
10 to have to have his toe off either way, there is no
11 need to determine whether osteomyelitis spread on the
12 toe?

13 A. That's correct.

14 Q. Because he's going to get the toe chopped
15 off?

16 A. That's correct.

17 Q. Doctor, if, in fact, the osteomyelitis spread
18 to the foot, he might or could need an amputation of
19 portions of his foot, correct?

20 A. If you see evidence of osteomyelitis in the
21 foot, you would see other changes, soft tissue changes.
22 There was none of that.

23 Q. Doctor, is it possible for osteomyelitis to
24 be present without those soft tissue changes?

1 MS. YOKOYAMA: I would object to the form of the
2 question as to what is possible. I don't believe
3 that's the appropriate standard.

4 THE WITNESS: In this situation I would say, no.

5 BY MR. MC MAHON:

6 Q. I didn't ask you in this situation.

7 A. Any osteomyelitis will show soft tissue
8 changes at some point.

9 Q. Your testimony today is that -- you're
10 answering, no, that it isn't possible for osteomyelitis
11 to be present without some soft tissue change, correct?

12 A. Eventually you would see some, yes.

13 Q. You keep changing your answer on me, Doctor.

14 A. I'm not changing. I said eventually you
15 would see something. There's always going to be some
16 soft tissue change, otherwise you're not even going to
17 know it's there. That's the reason that it presents,
18 because it has to present on the surface for you to
19 know if there's something wrong.

20 Q. You also know that it's there because you saw
21 it in the X-ray, correct, Doctor?

22 MS. ANDERSON: I would object. There has been no
23 definitive diagnosis of osteomyelitis prior to that.

24 MS. YOKOYAMA: I would join in that objection.

1 THE WITNESS: All we know is there is a suspicion
2 of osteomyelitis in the distal phalanx. It's in the
3 toe. It comes up. It's gone.

4 BY MR. MC MAHON:

5 Q. Your opinion within a reasonable degree of
6 medical and surgical certainty is that it's within the
7 standard of care for a vascular surgeon, general
8 surgeon, or other doctor not to rule out whether or not
9 the osteomyelitis had spread beyond the toe, correct,
10 Doctor?

11 A. I don't think it's -- within the standard of
12 care I would not have repeated the X-rays prior to
13 removing the toe.

14 Q. You didn't answer my question.

15 A. I do not think it was a deviation from the
16 standard of care not to get a further X-ray of the
17 foot.

18 Q. You're not answering my question, Doctor,
19 because you keep -- why don't you read him back my
20 question. It's a yes or no question.

21 A. It's yes or no -- in answering your
22 question --

23 Q. You can qualify it.

24 A. I'm trying to.

1 Q. But I want to have an answer to the question
2 first.

3 A. I'm trying to qualify it.

4 (Record read as requested.)

5 MS. ANDERSON: I want to state an objection.
6 You're mischaracterizing his testimony. He's indicated
7 to you that you can rule out the existence of
8 osteomyelitis by looking at clinical changes in the
9 tissue of the foot.

10 **BY MR. MC MAHON:**

11 Q. Did you understand the question, Doctor?

12 A. Well, the problem is that question doesn't
13 totally relate to the situation. I mean, osteomyelitis
14 is here, is located in the area of the injury, and the
15 area of the local infection. It doesn't just spread
16 all over the body and doesn't just spread up -- march
17 up the bones. So I would not -- I would say, yes, they
18 do not have to have another X-ray to rule out anything
19 in the foot.

20 Q. Doctor, is it your testimony that there is no
21 risk of spreading osteomyelitis from the toe to the
22 foot and up the bone?

23 A. That's correct. Cellulitis can occur.
24 Spreading infection can occur in the soft tissue, but

3 the osteomyelitis is not going to ascend up the foot.

2 Q. How would an infection spread from an
3 original osteomyelitis in a right fifth toe?

4 A. Just as I've talked about. It ascends up
5 through the soft tissues, through the tendon sheaths,
6 through the fascia. There's all sorts of ways that it
7 spreads, which are all soft tissue approaches and soft
8 tissue spreads that have nothing to do with ascending
9 up the bone.

10 Q. Would you agree with me, Doctor, that it
11 could as well become blood-borne or spread through the
12 lymph glands?

13 A. It could, yes.

14 Q. Doctor, would you expect osteomyelitis to
15 spread to the foot from the right fifth toe prior to it
16 spreading to other areas of the body, or is it the
17 converse of that?

18 A. When you saw the osteomyelitis spread, you
19 mean the infective process that goes to the soft
20 tissues?

21 Q. You're right. Let me correct that question.
22 Doctor, as to the infectious process in
23 Mr. Hanson's right fifth toe, would you expect that to
24 be seen in the foot if it spread prior to it being seen

1 in other parts of the body?

2 A. I would expect that, yes.

3 Q. Why would you expect that?

4 A. Because the patient is on antibiotics which
5 should control it, keep it localized. So I would
6 expect if things got out of control, you would see a
7 local problem first.

8 Q. Is that because, Doctor, the nature of an
9 infectious process is that it's going to be more
10 difficult for the antibiotic to fight that infection at
11 the localized area in the other parts of the body?

12 A. I don't quite understand that question. You
13 give the antibiotics to fight it locally, and you gave
14 new blood supply down there to allow the antibiotic to
15 get down to this area better. So you have a
16 combination now of increased vascularity and the
17 ability to get the antibiotic even better to the source
18 and to localize the process there.

19 Q. I need you to explain for me, then, why you
20 testified that you would expect to see it in the foot
21 prior to the other parts of the body. Why would that
22 be?

23 A. If you don't control it, you're going to see
24 -- because you lose local control first, and then it

1 could spread into the lymphatics. It can spread into
2 the blood. But to keep it localized out to the toe,
3 the potential of that is extremely small, almost
4 infinitesimal. For it to spread more, it's going to
5 have to have a bigger -- in other words, evidence of
6 loss of control of it locally.

7 Q. Doctor, would amputating Mr. Hanson's right
8 fifth toe as he presented on August 5 of '90 have
9 prevented the spread of the infection in the right
10 fifth toe?

11 MS. ANDERSON: Could you read back the question,
12 please?

13 (Record read as requested.)

14 MS. ANDERSON: There is no factual support for
15 that question that the infection in the right fifth toe
16 ever spread. Are you asking him if the toe should have
17 been amputated on August 5? I'm not sure.

18 BY MR. MC MAHON:

19 Q. Do you understand my question, Doctor?

20 A. Not exactly. I'll ask you a question. You
21 mean spread in the toe -- if you take it off, it
22 couldn't spread in the toe.

23 Q. You're right. Spread from the toe. I
24 apologize. With the change in the question, Doctor,

1 spreading from the toe.

2 A. Without vascularity you have the potential
3 that any bacteria in the interface would still have a
4 problem. That's why you like to get a
5 revascularization at or before taking the toe off as
6 long as you don't have an infection that's out of
7 control. If you have infection out of control, you do
8 an amputation first. He did not present with an
9 infection out of control.

10 Q. Doctor, could Mr. Hanson's right fifth toe
11 have been amputated, culture taken of the soft tissues
12 at the site of the amputation, and a culture done of
13 those tissues to determine what the bug was that was
14 down there?

15 A. It could have been, yes.

16 Q. Do you know whether or not one was done in
17 this case?

18 A. I do not know that. I don't believe it was.

19 MS. ANDERSON: We're two and a half hours into the
20 deposition, and I'm still waiting for questions about
21 the standard of care for Dr. Sumyk at this point, which
22 is what this expert is here on behalf of as related to
23 the motion for summary judgment.

24 MR. MC MAHON: I would make a statement for the

1 record that I spoke with counsel prior to this
2 deposition, and she indicated -- and you can say if
3 it's not correct -- that her position would be that she
4 would not be presenting Dr. Littooy for a further
5 deposition unless his opinions change, a position that
6 I disagree with, but that is the basis for me going
7 more into depth with Dr. Littooy's opinions.

8 BY MR. MC MAHON:

9 Q. Doctor, do you have an opinion in light of
10 Mr. Hanson's clinical findings as they presented the
11 day of the graft prior to the bypass graft being done
12 as to whether or not an autogenous or prosthetic graft
13 should have been used?

14 A. Either was fine.

15 Q. Would you agree with me, Doctor, that there
16 is a greater risk of infection in the use of a
17 prosthetic graft?

18 A. There is a slight increase, yes, very small.

19 Q. Do you know what the percentages are for
20 that, Doctor?

21 A. Percentages of graft infections are 1 percent
22 or less.

23 Q. I guess what I'm getting at, Doctor, is what
24 the difference would be in the greater risk in using a

1 prosthetic graft versus autogenous graft?

2 A. It's never been studied, so there is no
3 answer to that.

4 Q. It's your understanding, Doctor, that there
5 isn't any literature out there on that?

6 A. That's correct. There's never been a
7 randomized trial to look at that question.

8 Q. Doctor, do you have an opinion as to whether
9 or not Mr. Hanson's risk of an infection in the graft
10 was increased or decreased as a result of the use of a
11 prosthetic graft as opposed to an autogenous graft?

12 A. I don't have an opinion that it was
13 increased, no. I do not think it was increased. I do
14 not think that the risk was increased.

15 Q. Doctor, is there any difference in the
16 standard of care in regards to whether a graft is to be
17 done above or below the knee?

18 A. That's totally dependent on the anatomical
19 presence of the occlusion and whether you can get
20 around the occlusion by staying above the knee or
21 whether you have to go below the knee to bypass the
22 occlusion. It's totally based on where the occlusion
23 is.

24 Q. What's your understanding of where

1 Mr. Hanson's occlusion was?

2 A. It was at the Hunter's Canal, which is the
3 area in the distal thigh above the knee. And he had a
4 reconstitution of a normal popliteal artery below that,
5 but which was still above the knee, so they were able
6 to get a bypass above the knee.

7 Q. Doctor, did Mr. Hanson have a saphenous vein
8 available for an autogenous graft?

9 A. He had not had it removed for anything else,
10 but whether it was adequate is another question. I
11 don't know that it was looked at.

12 Q. You don't know one way or the other?

13 A. No, I don't.

14 Q. Doctor, is there any difference in cost to
15 the patient as to whether or not an autogenous or a
16 prosthetic graft is used?

17 A. The difference is the cost of the graft. The
18 charge for the operation is the same.

19 Q. Tell me what that difference is, Doctor, cost
20 of the graft?

21 A. The grafts in this situation are probably
22 about \$750 to \$800.

23 Q. You're talking about a prosthetic graft?

24 A. Yes.

1 Q. How long, Doctor, would a fem pop bypass
2 normally take?

3 A. How long does it take to do?

4 Q. Correct.

5 A. That will vary on the surgeon, but it could
6 take anywhere from an hour to three hours. This
7 particular type of operation, femoral above the knee
8 with prosthetics, should be done in about two hours on
9 average.

10 Q. Would the time it would take, Doctor, be
11 different if you were going to use an autogenous vein
12 as opposed to a prosthetic graft?

13 A. It takes longer.

14 Q. How much?

15 A. It depends, but maybe add an hour to the
16 procedure.

17 Q. You said earlier that the cost of the surgery
18 would be the same?

19 A. In terms of the cost of the surgeon, the
20 anesthetic cost would go up because they charge per
21 hour, so there would be an increased cost from the
22 anesthetic standpoint.

23 Q. What about as to the vascular surgeon?

24 A. No.

1 Q. His cost would stay the same whether you used
2 autogenous vein or graft?

3 A. There may be a slight increase in the use of
4 autogenous vein. I think some surgeons charge a little
5 more for the use of the autogenous vein.

6 Q. Do you charge any more for that, Doctor?

7 A. We do this all through the business office.
8 I can't tell you for sure. I think we charge a little
9 more.

10 Q. Okay. Would the little more that you're
11 saying compensate you for the extra hour of time?

12 A. Yes. I mean, that's why it is. It's a
13 little more difficult procedure.

14 Q. Doctor, would an operation involving an
15 autogenous transplant with that extra amount that
16 you're charging for the time, how would that compare to
17 a prosthetic graft transplantation with that lesser
18 time. I guess what I'm getting at it, does the longer
19 amount of time make it more overall expensive for the
20 patient, or does the greater expense of the graft make
21 it overall more expensive?

22 MS. YOKOYAMA: I would object to the question.
23 You're not really going to try to imply that a decision
24 to use a gortex graft was based upon the amount of

1 money Dr. Villasuso was going to make performing a
2 gortex procedure versus using -- or doing an autogenous
3 graft.

4 BY MR. MC MAHON:

5 Q. Do you understand my question, Doctor?

6 A. It's probably a wash. I don't know.

7 Q. That's what I wanted to get at. Is that a
8 wash?

9 A. Probably.

10 Q. Doctor, you're here today to testify in
11 regards to what the standard of care is as to a first
12 assistant surgeon?

13 A. Yes.

14 Q. In this case you're also here -- you were
15 retained by counsel for Dr. Sumyk?

16 A. Yes.

17 Q. Do you agree with me that you are qualified
18 to give an opinion in regards to what the standard of
19 care was for Borys Sumyk in regards to his role with
20 Ken Hanson?

21 A. Yes.

22 Q. Doctor, what is the general standard of care
23 in regards to the responsibility and duties to the
24 patient that a first assistant surgeon would owe to a

1 patient such as Mr. Hanson having the bypass procedure
2 that he did?

3 A. Well, their obligations and responsibilities
4 here have to do with assisting the primary surgeon to
5 make the operation easier; to make it go well,
6 efficiently; and that will, thereby, improve the
7 patient care, the patient situation, in a given
8 operation.

9 Operations cannot be done by a single person.
10 They require assistance to expose and to help with the
11 doing of the anastomosis in this case. And the more
12 experienced and qualified that assistant is, the better
13 that is for you.

14 In this situation Dr. Sumyk was the general
15 surgeon and, I think, a board certified general
16 surgeon. And many surgeons out in the community
17 practice -- because they don't have the residents like
18 you're dealing in an academic center -- would depend
19 upon the use of assistants. And they try to get the
20 best assistants they can, which are usually general
21 surgeons who aren't busy or attending internists who
22 are taking care of the patient to help them in surgery.

23 Q. Doctor, what is your understanding of
24 Dr. Sumyk's qualifications to have been the first

1 assistant surgeon in regard to that bypass procedure?

2 A. I would say his qualifications are excellent.

3 Q. What I'm asking is what is your understanding
4 of those qualifications?

5 A. Well, that he's a practicing general surgeon
6 and, thereby, has gone through a training program at
7 which time he's obviously first assisted in many cases.
8 He's been out in practice. Because he's gone through a
9 training program, he understands operations, the
10 conduct thereof, and understands how to assist another
11 surgeon. And from that standpoint I would see him as a
12 very competent person to help Dr. Villasuso.

13 Q. Do you know Dr. Sumyk?

14 A. No.

15 Q. Have you ever worked with him in any regard?

16 A. No.

17 Q. Do you know any of the physicians in this
18 case?

19 A. No.

20 Q. Are you familiar with any of the physicians
21 in this case professionally?

22 A. No.

23 Q. What about socially?

24 A. No.

1 Q. Doctor, were you provided with a CV of
2 Dr. Sumyk?

3 A. I can't remember whether I was or not. In
4 his deposition it was gone through what his background
5 was, and that's where I got it.

6 Q. So the basis of your opinions in regards to
7 Dr. Sumyk's qualifications are based upon that
8 deposition?

9 A. In large part, yes.

10 Q. What other matters other than that deposition
11 would you be basing that on, if you don't know him and
12 you haven't seen a CV?

13 A. Through a CV, and talking to Ms. Anderson,
14 the fact that he's done a lot of first assisting.

15 Q. So you were provided with his CV?

16 A. I didn't mean CV, I'm sorry. I meant his
17 deposition.

18 Q. Is your understanding, Doctor, that Dr. Sumyk
19 is a board certified general surgeon?

20 A. I have to read through it again. I can't
21 remember whether he's board certified or not.

22 Q. Doctor, what qualifications and training
23 would be required of Dr. Sumyk prior to him being
24 allowed to be a first assistant to do a bypass

1 procedure such as he did? I'm sorry. I want you to go
2 all the way back to education.

3 A. Well, he's -- I mean, I could backtrack a
4 lot. I mean, a first assistant could be anywhere from
5 a physician's assistant to a surgical tech to an MD of
6 some sort. Whether it's the interns taking care of the
7 patient, whether it's a surgeon, first assistants can
8 have a whole gamut of experience. It just depends.

9 In his particular situation, this is a man
10 who has gone through medical school. He's gone through
11 a surgical training program. He's out practicing
12 general surgery. So this would put him in a higher
13 group, as I would look it at, of people who have the
14 ability to assist another surgeon.

15 Q. Doctor, is it your testimony, then, that a
16 person less than an MD would have been qualified to act
17 as the first assistant to Dr. Villasuso in regard to
18 this bypass procedure on Mr. Hanson?

19 A. They could be.

20 Q. Do you recall, Doctor, seeing in Dr. Sumyk's
21 deposition that there was a policy at Westlake in
22 regards to the necessity of the first assistant being
23 an MD?

24 A. No, I don't remember that.

1 Q. Based on what you're saying, you wouldn't be
2 aware of any need to have such --

3 A. I --

4 Q. Let me finish the question. You wouldn't be
5 aware of any need to have such a qualification,
6 correct?

7 A. Not to my knowledge, no.

8 Q. For that type of procedure as it was done on
9 Mr. Hanson in August of 1990, the bypass, have you ever
10 used persons with lesser qualifications than an MD to
11 be a first assistant?

12 A. I have not, no.

13 Q. How many of these procedures have you
14 performed, Doctor?

15 A. Fem pop bypasses? Probably 500 or so.

16 Q. Are you the go-to guy at Loyola, Doctor, for
17 a fem pop bypass, or are there other doctors?

18 A. There are three of us.

19 Q. What are their names?

20 A. William Baker, Howard Greisler,
21 G-r-e-i-s-l-e-r, and myself.

22 Q. Doctor, what would be the standard of care
23 required of an MD, a general surgeon, such as
24 Dr. Sumyk, in regards to a review of Mr. Hanson's

1 medical chart prior to surgery?

2 A. I don't think the standard of care would
3 require that he review it at all necessarily.

4 Q. In what circumstances would the standard of
5 care not be required?

6 A. I don't think the standard of care does
7 require that he review the chart.

8 Q. You said "necessarily" and you're qualifying
9 that. Are you changing your necessarily?

10 A. I'm changing my necessarily, yes.

11 Q. So, Doctor, when you are performing a fem pop
12 bypass, would it be your expectation that your first
13 assistant surgeon would have no knowledge of the
14 patient's history or clinical condition?

15 A. I'm sorry. What was my expectation? What
16 did you say?

17 MR. MC MAHON: Why don't you read it back to him.

18 (Record read as requested.)

19 THE WITNESS: My expectation would not be that,
20 because I'm in a trained hospital with residents and
21 expect them to be learning from this.

22 In a community hospital, it could be a
23 totally different situation where you're looking for
24 good qualified help, and that's what you're most

1 concerned with.

2 BY MR. MC MAHON:

3 Q. You're saying, Doctor, that there is a
4 difference between the standard of care in regards to
5 what -- strike that. Because you're not saying that.
6 You're saying what your expectation would be.

7 A. Yes.

8 Q. Is there, Doctor, a difference between the
9 standard of care at a facility such as **Loyola** or
10 Westlake Community Hospital in regards to the standard
11 of care of the first assistant surgeon in a fem pop
12 bypass?

13 A. No, I don't think so.

14 (Short interruption.)

15 (Short recess.)

16 BY MR. MC MAHON:

17 Q. Doctor, what is the need for a first
18 assistant surgeon for a fem pop bypass specifically?
19 Can you get into the specific types of things that the
20 person is going to do?

21 A. Sure. Initially is the dissection of the
22 vessels which requires two people to retract, because
23 to encircle the vessels and dissect them out properly
24 without entering the vessels or other things in the

1 area is a two-person type of thing.

2 Secondarily, when you do the bypass itself,
3 to do the suture line requires a second and a first
4 assistant to both follow the suture and expose the
5 graft edge and the arterial edge to allow you to have
6 accurate placement of the sutures.

7 Q. Is there anything else?

8 A. That's primarily **it**.

9 Q. **So**, Doctor, would it be fair to say that
10 there is no requirement similar to an aircraft where
11 there is a pilot and a copilot in case the pilot has
12 the heart attack that the first assistant be able to
13 take over and close the person up?

14 A. That's not the requirement, no.

15 Q. Is there any understanding that you're aware
16 of in that regard that that's one of the reasons why
17 you might want to have an MD there?

18 A. No.

19 Q. Would it be true, Doctor, that there would be
20 no need to have a first assistant surgeon who would be
21 qualified to perform a femoral bypass secondarily to
22 the vascular surgeon performing it?

23 A. Correct.

24 Q. Doctor, what would be the standard practice

1 in regards to informing the first assistant surgeon
2 about the procedure that is going to take place?

3 A. Just tell them what you're doing basically.
4 I think basically they're going to come in and help no
5 matter what it is, but just a general knowledge of what
6 kind of case you're going to be working on.

7 Q. The primary vascular surgeon doing that
8 grafting type of procedure, is it important for that
9 doctor to brief specifically in regards to what this
10 person's physical condition is?

11 A. I would not say that that's necessary, no.

12 Q. What are the types of things that are
13 important for that first assistant to know about the
14 patient's clinical condition?

15 A. Not much really. I mean, the surgeon is the
16 primary caretaker of the patient along with, perhaps,
17 an internist. And the assistant is not involved in the
18 primary care of the patient. He's involved in
19 assisting the surgeon in the operation.

20 Q. You keep qualifying your answers. You said
21 "not much." Are there any things, Doctor, that the
22 primary vascular surgeon would want the first assistant
23 surgeon to know prior to assisting in a fem pop bypass
24 such as Mr. Hanson's?

1 A. In a fem pop bypass, I would say no.

2 Q. You would be comfortable going into an
3 operation with a first assistant surgeon having no
4 knowledge of the patient's history or clinical
5 condition on a fem pop bypass such as Mr. Hanson's?

6 A. Yes, I would.

7 Q. Doctor, what is your understanding in regards
8 to Borys Sumyk's relationship to Ken Hanson?

9 A. I'm sorry. His relationship to Ken Hanson?

10 Q. His relationship to Ken Hanson.

11 A. As far as I know, he didn't know who he was
12 until he walked into the operating room.

13 Q. Did Dr. Sumyk have a doctor-patient
14 relationship with Dr. Hanson for that surgery?

15 A. No. Not that I'm aware of, no. I'm only
16 qualifying that if you mean preoperatively and
17 interoperatively I would say, no. I do believe he saw
18 the patient postoperatively when he was covering --
19 Dr. Villasuso was either off for the weekend or out of
20 town or something.

21 Q. Doctor, it's your understanding that a
22 doctor-patient relationship wasn't established with
23 Mr. Hanson as to Dr. Sumyk until the postoperative
24 visits?

1 A. That's my understanding, yes.

2 Q. There would be a doctor-patient relationship
3 established at that time?

4 A. A minimal one only as someone covering for
5 Dr. Villasuso.

6 Q. What is your understanding in regards to how
7 Dr. Sumyk came to be at that surgery for the bypass
8 procedure?

9 A. My understanding is an hour, hour and a half
10 before the procedure he was called by Dr. Villasuso and
11 asked him to assist him in this case, because someone
12 who was going to assist him was unable to.

13 Q. So as to Dr. Sumyk, is it your understanding
14 that that was a doctor provided by Westlake Hospital?

15 A. The doctor that was going to help him?

16 Q. No, Dr. Sumyk?

17 A. Oh, that he was provided by the hospital?

18 Q. Correct.

19 A. No, I think he has a relationship with
20 Villasuso and that he has first assisted him on several
21 occasions.

22 Q. That's a doctor that's being provided by
23 Dr. Villasuso?

24 A. Yes. That would be my understanding.

1 Q. What about the other medical -- let me ask
2 you a preliminary question.

3 What medical persons would be necessary to do
4 the procedure that was done Mr. Hanson on August 9?

5 A. What medical persons?

6 Q. Correct.

7 A. You need the primary surgeon, Dr. Villasuso.

8 Q. Right.

9 A. And the other medical people would be the
10 anesthesiologist. Aside from that it's, as I say, the
11 first assistant, who is an MD in this particular case.
12 It doesn't have to be an MD. So the two primary
13 physicians would be the anesthesiologist and the
14 primary surgeon.

15 Q. Do you need any other medical personnel
16 assisting in any capacity?

17 MS. ANDERSON: Meaning nurses?

18 THE WITNESS: Other medical personnel sure. There
19 is scrub nurse. There is a circulating nurse, maybe
20 some CRNAs that are working with the anesthesiologists.
21 I don't know how it works in that hospital. He used an
22 angioscope. There may have been someone who helped set
23 up the angioscope. Other than that, I think that would
24 be it.

1 BY MR. MC MAHON:

2 Q. Doctor, is it your understanding that the
3 medical personnel other than Dr. Villasuso and
4 Dr. Sumyk were provided by Westlake Hospital?

5 MR. BROWN: Objection. The doctor just testified
6 he didn't know how it worked at this hospital.

7 THE WITNESS: I don't know how it works. I don't
8 know if it's contracted out or the hospital provides --

9 BY MR. MC MAHON:

10 Q. I asked you what your understanding was for
11 the purpose --

12 MR. BROWN: Objection. He just said he doesn't
13 have an understanding of what the hospital does.

14 THE WITNESS: I don't know how the hospital works.

15 BY MR. MC MAHON:

16 Q. Doctor, I asked you for the purposes of your
17 opinions in this case what your understanding was as to
18 where those medical personnel were provided for that
19 operation?

20 MR. BROWN: For the third time, I'm going to
21 object. The doctor testified that he does not know how
22 Westlake Community Hospital operated its operating room
23 procedures and has no basis for any such testimony.

24 MS. ANDERSON: He's not indicated where those

1 people came from has any basis to the opinions.

2 THE WITNESS: I don't know. I would just assume
3 they were provided by the hospital, but I would have no
4 idea.

5 BY MR. MC MAHON:

6 Q. Doctor, the persons who you described would
7 be necessary to perform that fem pop bypass, was that
8 the minimum number of people that would be necessary?

9 A. Yes, I would say the minimum. You have to
10 have an anesthesiologist, a primary surgeon, a scrub
11 nurse, and a circulating nurse.

12 Q. Do you know whether or not that was provided
13 in Mr. Hanson's case?

14 A. I think it was. I would have to look at
15 the -- there is a procedure that indicates who those
16 particular people are.

17 Q. I'll move on. Doctor, do you have an opinion
18 as to whether or not -- first of all, Doctor, is it
19 your understanding that Mr. Hanson had his right fifth
20 toe debrided on the fifth -- I'm sorry, during the
21 operation on the 9th?

22 A. Yes.

23 Q. What would be the purpose of that?

24 A. Maybe there was some necrotic tissue at the

1 base of the gangrenous area. I don't know. It wasn't
2 well-described. It just said there was a debridement.

3 Q. You don't know one way or the other what the
4 purpose of that debridement was?

5 A. No, I don't.

6 Q. Doctor, could a debridement aggravate an
7 infection such as what was in Mr. Hanson's right fifth
8 toe?

9 A. Theoretically it should enhance it, because
10 if there is necrotic tissue or material that you
11 removed, it should take away the dead tissue, which
12 will have a greater propensity to have infected
13 material in it, make it harder for the new blood supply
14 to clear the area, just a matter of trying to make the
15 wound easier to clear, if you will, the infection and
16 whatever was going on.

17 Q. Doctor, would there ever be a circumstance
18 where a debridement of the right fifth toe as it was in
19 Mr. Hanson's case on August 9 would contraindicate a
20 debridement of that toe?

21 A. I don't understand the question. I mean, if
22 there's tissue that's devitalized, it would make sense
23 to remove it. On the surface that's what you're
24 concerned with, because what you're trying to do is

1 to -- in his situation was to try to move his
2 amputation out. So he was trying to do everything he
3 can to keep it limited to the toe, and that would be my
4 understanding of what he was doing. It should not make
5 things worse.

6 Q. Doctor, is your opinion different at all if,
7 in fact, there was osteomyelitis in that toe on
8 August 9, 1990?

9 A. No.

10 Q. Doctor, did you note in the records anything
11 in records to the purpose for not amputating the toe on
12 the 9th?

13 A. As I described, he wanted to revascularize
14 the area and look for a demarcation, which would be as
15 far as out as possible. And he felt that he could do
16 that by revascularizing and minimize the amount of
17 amputation you would have to do and do a primary
18 amputation at the time he did it rather than leave it
19 open.

20 Q. Doctor, I believe you testified it's within
21 the standard of care to do what he did in that regard?

22 A. Yes.

23 Q. Doctor, if Mr. Hanson's toe had been
24 amputated either on August 9 or within a few days

1 thereafter, would that have had any affect on his
2 future condition?

3 A. I don't understand that question. I don't
4 understand -- you would have had to have had an open
5 amputation, so you would have had to had an open wound
6 that would have secondarily healed, which could have
7 taken weeks to months to happen. And I guess that's
8 the only thing different that I can see.

9 Q. If he had had an amputation that day, the
10 wound might have taken a number of months to heal?

11 A. It depends on the situation. With the
12 revascularization you would hope it would be within
13 four to six weeks to heal, but sometimes in diabetics
14 it can take a long time.

15 Q. Doctor, what risks would Mr. Hanson have in
16 relation to that length of time it would take for that
17 toe to heal?

18 A. Well, you have an open wound and you have an
19 area for actual infection to go in. And given some
20 potential of infecting the bone at the distal end or
21 you cut it off, hopefully your revascularization is
22 going to allow enough blood supply so that it will heal
23 and won't have any problems with it. An open wound is
24 more difficult to deal with for the patient as far as

1 dressing changes, et cetera, et cetera.

2 Q. Doctor, could you characterize the risks and
3 weigh the risks involved in amputating the toe on
4 August 9, 1990, as opposed to the risk of the
5 antibiotic which Mr. Hanson was being given not
6 working?

7 A. I don't think there's any real difference in
8 the risk, because you're going to be watching the
9 patient. If you see the antibiotics aren't working,
10 you just go ahead and take the toe off. If you see
11 that they are working, you continue the course that
12 you've decided upon, which is to see if you can clear
13 the infection and do a more distal amputation, which
14 you could close primarily.

15 Q. Doctor, would you agree with me that the
16 treatment of a patient such as Mr. Hanson would have
17 required a doctor to make an analysis as to what was
18 the best method to save as much of his lower extremity
19 as possible?

20 A. Yes, that's what it was all about. Yes.

21 Q. Would you also agree with me, Doctor, that
22 amputating below the knee as opposed to above the knee
23 would be beneficial to Mr. Hanson?

24 A. Absolutely.

1 Q. Why is that?

2 A. Because it's a much more efficient
3 energy-saving amputation in terms of the
4 rehabilitation, their ability to get around, much less
5 work required if your knee joint is left intact. If
6 fact, you can usually return almost to the same level
7 of ambulation or one level less with a below-the-knee
8 amputation, much better than above the knee. Usually
9 it's more much restricted.

10 Q. What functional problems, Doctor, would a
11 person have who has his right fifth toe amputated?

12 A. Zero.

13 Q. Doctor on August 5, 1990, was it a forgone
14 conclusion that Mr. Hanson was going to require an
15 above-the-knee amputation?

16 A. Oh, no.

17 Q. Do you have an opinion, Doctor, as to whether
18 at the time of Mr. Hanson's amputation above the knee
19 that there was any potential to save the leg?

20 A. As I read through those notes, I would say --
21 well, to be quite honest, it's not well-characterized
22 what's going on with his leg when he comes in at the
23 time of his back problem. The first characterization I
24 see of his leg is almost prior to his amputation, at

1 which time there were severe progressive problems
2 distally. It was cool clear up to the knee. I don't
3 think there was much option but to do an above-the-knee
4 amputation at that point in time.

5 In terms of revascularization and
6 revascularizing his leg when they took out the occluded
7 graft, it wasn't even clear when that graft occluded,
8 whether it was occluded when he came in or whether it
9 occluded after he got into the hospital. There's no
10 description of what's going on in his leg until two or
11 three days after his back operation, so I can't tell
12 you.

13 And then it depends on the degree of the
14 infection, because then you would have had to do some
15 sort of a bypass with a long segment of vein where I
16 would have had to come in above the inguinal ligament
17 and brought a graft somewhere laterally down to some
18 vessel lower in the leg. And it would have been -- the
19 chances of that working would not have been great under
20 the circumstances.

21 A lot depends on what the viability of the
22 leg was at that time. It wasn't clear to me in reading
23 this chart how severe things really were.

24 Q. Doctor, do you believe that it was within the

1 standard of care not to attempt to save the leg?

2 A. Yes.

3 Q. Was is the reason for that?

4 A. If he had a severe infection, number one,
5 you've got an infection at the groin level, you've got
6 an infection throughout out the length of the graft, as
7 I understood it, an infection in the back. You have a
8 lot of things going on with this guy at the same time,
9 which would have made a long procedure to try to save
10 this leg very risky. I'm not even sure the leg was
11 salvageable. The way it was described at the
12 pathology, it sounded like it was a necrotic foot,
13 gangrenous foot, and not even salvageable.

14 Q. Doctor, do you have information -- you keep
15 saying it's not well-described.

16 Do you have enough information to make that
17 determination?

18 A. Not really because, as I say, it's very vague
19 as to what's going on with this leg throughout.

20 There was an indication that there was a
21 discussion with the patient about the possibility of
22 doing some sort of extra anatomic repair versus
23 amputation, and the doctor and patient came to the
24 conclusion to do the amputation.

1 Q. Doctor, you're referring to a conversation
2 between the doctor and the patient.

3 Do you recall what the risks inherent in
4 making attempts to save the leg -- how those were
5 described to Mr. Hanson?

6 A. No, I do not.

7 Q. There's going to be risks in any surgical
8 procedure, correct, Doctor?

9 A. Yes.

10 Q. Would you agree that an attempt to do another
11 bypass, a graft bypass, might or could have saved
12 Mr. Hanson's leg for at least some period of time?

13 A. I can't make that judgment, because I don't
14 know what the leg was like.

15 Q. Doctor, are you aware of any medical
16 literature in regards to using an autogenous vein after
17 a prior graft bypass has become infected as a method to
18 attempt to save a leg such as Mr. Hanson's?

19 A. Absolutely.

20 Q. There's medical literature that would support
21 that, correct?

22 A. It can be done, but you have to individualize
23 the situation, what it would have required to do that.
24 You don't put it back in the same bed, you have to find

1 clear areas to come from and to go down to. In him
2 that would have been -- had some real problems.

3 Q. Doctor, was the only method to revascularize
4 Mr. Hanson's lower extremity -- right lower extremity
5 by means of a graft bypass?

6 A. Yes -- at what point in time?

7 Q. I mean prior to the bypass being done.

8 A. You mean August?

9 Q. Correct.

10 A. Again, I have not seen the angiograms. They
11 described a relatively short occlusion of the SFA.
12 There's some potential, although this was 1990, and I
13 don't know the situation at Westlake, but some people
14 would try to open that up with a balloon. But the
15 long-term outcome of that is extremely poor with an
16 occluded vessel, particularly down this far on the leg.
17 And most people would do a bypass for that particular
18 problem.

19 Q. Were there any other procedures in 1990 other
20 than doing a balloon and an angioplasty?

21 A. For bypass, no. Those were the two
22 procedures that were --

23 Q. What is an endarterectomy?

24 A. An endarterectomy is basically where you just

1 isolate the area that's blocked. You open it up, clean
2 it out, attach it, or just close it back up. But that
3 has been basically dropped from favor for many, many
4 years now. It's one of the original operations done
5 for this type of conclusion. It did not have good
6 long-term success, so it's been given up.

7 Q. Doctor, would you agree with me that the fact
8 as you stated that this was a relatively short
9 occlusion would make that occlusion more favorable to a
10 balloon procedure than a longer occlusion?

11 A. Well, any occlusion does not work as well as
12 a stenosis, that is, if you just have a narrowing; but
13 when you actually have the artery blocked off, then the
14 results are much worse. The further down the system
15 you go, the results get worse and worse. If you dilate
16 arteries up high, you have fairly good results. As you
17 move down, the results are much worse.

18 Here you would not have necessarily been able
19 to get a balloon across it. If you have an
20 obstruction, you have to be able to get a wire across
21 the obstruction first, then you either dilate it or you
22 put in lytic drugs to try to get rid of any clot there
23 is and see if there is an underlying shorter lesion
24 which could then be dilated. There's a lot of things

1 you go through with that. But nevertheless when you
2 have a total occlusion, the results are certainly less
3 than 50 percent in six months of being opened.

4 Q. I'm sorry. You would expect that only to
5 last for up to six months?

6 A. At least.

7 Q. How long, Doctor, would you expect a
8 prosthetic graft to be viable?

9 A. In his above knee, the fact that you could
10 use an above knee, he should have about a 60 percent,
11 four years.

12 Q. What if you use an autogenous?

13 A. It would be a little better, probably around
14 70, 75 percent.

15 Q. How many years?

16 A. Four years.

17 Q. Doctor, do you have any patients that have
18 had better results than that?

19 A. One patient -- when we're talking about
20 percentage here, one patient --

21 Q. Who have gone beyond your four years?

22 A. Yes. That's an average. That's 60 percent
23 do. That 60 percent that do can go on for long periods
24 of time. I've seen patients go as long as 10 years

1 with bypasses.

2 Q. Is there a long end that no bypass is going
3 to be viable?

4 A. No.

5 Q. It could go on as long as the person's entire
6 life?

7 A. Yes.

8 Q. Doctor, is there any literature in regards to
9 a preference for the use of autogenous veins in a fem
10 pop bypass such as Mr. Hanson's?

11 A. If you have to cross the knee, you would
12 prefer to use an autogenous vein.

13 Q. Did they have to cross the knee in
14 Mr. Hanson's case?

15 A. No. And those percentages I gave you are for
16 above-the-knee bypasses, not across-the-knee bypasses.

17 Q. Which are the ones that apply to Mr. Hanson?

18 A. Right.

19 Q. I believe you testified earlier that there is
20 no preference for an autogenous or a prosthetic vein
21 above the knee, correct, Doctor?

22 A. That's correct.

23 Q. But you did also testify that a prosthetic
24 graft is more susceptible to infection?

1 A. Somewhat, yes. It's a very small difference.

2 Q. And that autogenous vein would also have a
3 greater likelihood of success over the long-term?

4 A. Over the long terms it works a little better.
5 It's not statistically different, but it is a little
6 better, yes.

7 Q. Doctor, could you describe for me what
8 indications would exist in a patient in which -- in
9 light of those favorable circumstances for an
10 autogenous graft that a prosthetic graft would still be
11 used?

12 A. Several things. Here is a man who has tibial
13 level disease, a potential for progression for that
14 disease, a potential for the need for further
15 operations. So many people because of this and because
16 of the relatively favorable long-term results from
17 above-knee prosthetic grafts would prefer to use the
18 prosthetic grafts; save the vein if it's needed for a
19 later distal bypass, at which time it would have to be
20 used then, and some potential -- because these patients
21 have atherosclerosis that they may need a heart bypass
22 at some point in the future, too.

23 Q. Doctor, would you agree with me that the
24 potential for a heart bypass would not be the

1 overriding concern in a situation such as Mr. Hanson's
2 case where you're trying to save the leg?

3 A. That's correct.

4 Q. Doctor, did you note in the records any
5 indication as to the graft infection?

6 A. Yes.

7 Q. Do you recall what type of infection was in
8 the graft?

9 A. As I looked through the chart, I did not see
10 any culture results. I did not know what it was.

11 Q. Does that matter to your opinions at all,
12 what those culture results were, if they were done?

13 A. It would be interesting to know what they
14 are, yes.

15 Q. Doctor, do you recall ever seeing any culture
16 tests done on the infectious process of the spine?

17 A. I never saw any results. I know that it was
18 wrote out in his discharge summary that it was
19 staphaureus, which was Methicillin and Gentamicin
20 resistant, but I never saw the actual result in the
21 chart.

22 Q. Doctor, do you have an opinion as to the
23 cause of the staphaureus in Mr. Hanson's spine?

24 A. It was probably blood-borne. He did not have

1 a spinal or epidural anesthetic in his first operation,
2 so that would not have been a source to introduce
3 something into the area. As far as I know, he didn't
4 have any back injuries although -- my guess is it was
5 blood-borne.

6 Q. Doctor, you said you read Dr. Villasuso's
7 dep?

8 A. Yes, I have.

9 Q. Do you recall seeing in his dep that he
10 stated that the cause of the infection in his spine
11 originated from the infection in the toe?

12 MS. YOKOYAMA: I would just object to your
13 characterization of that statement. I don't think
14 that's a proper statement made by Dr. Villasuso during
15 the course of his deposition.

16 MR. MC MAHON: I asked him what he recalled, and
17 he said that was correct.

18 BY MR. MC MAHON:

19 Q. Doctor, is your answer still correct?

20 A. I just read through it briefly this morning,
21 but it seemed like he was linking things together.

22 Q. Do you have an opinion, Doctor, as to whether
23 Dr. Villasuso's opinion is correct?

24 A. I don't know that, because I don't know what

1 the original infection was in the toe. I don't even
2 know what grew out of the graft.

3 Q. Would you defer to Dr. Villasuso's opinion in
4 that regard in light of him being the treating
5 physician in this case?

6 A. In general I would say, yes. He's on the
7 front lines. He's evaluating the patient and making an
8 evaluation based on what he sees.

9 It looks like he drew something different
10 from the graft versus what he drew from the back. He
11 drew pseudomonas from the graft and staphaureus from
12 the epidural abscess. There were two different bugs.

13 Q. Does that change your opinions in this case?

14 A. Well, again, we never have any cultures from
15 the toe, so I don't really know what was down there.
16 As I say, it could be a mixed infection. He was on
17 antibiotics for a long time. He could have had
18 staphaureus down there, too. He could have had
19 pseudonomas down there. Pseudonoma is an unusual
20 primary infection, but it does occur.

21 Certainly the graft infection does not appear
22 to be the source of the epidural, because they're two
23 different bugs.

24 Q. Doctor, I want you to assume for the purpose

1 of my question that there was a Methicillin-resistant
2 infection in the toe and that it is connected to --
3 causally connected to the later Methicillin-resistant
4 infections noted in the spine, okay?

5 Assuming those facts, do you have an opinion
6 as to whether Vancomycin being given to Mr. Hanson in
7 August of 1990 might or could have prevented the spinal
8 infection?

9 A. Number one, he may not have been given
10 Vancomycin if they drew staph aureus out of the toe,
11 because they took the toe off. That's basically taking
12 away the source of infection, and you don't need to
13 treat that once you've taken that away. So it's not an
14 easy answer.

15 Q. Separate and apart from that type of
16 treatment of taking it off, might or could the
17 Vancomycin have prevented the infection in the spine in
18 that scenario?

19 A. It depends on whether he had gotten a full
20 course of treatment -- had gotten rid of the source of
21 the infection. If the source was still there and the
22 treatment had been stopped, there was still a potential
23 that it could have gone at a later date.

24 I can't answer that specifically because I

1 don't really know. I mean, if he had got Vanco and a
2 full course, there is some potential, yes, that if it
3 was from the toe, it might have prevented this. But it
4 was three months later, which is a little bit late.
5 You should have probably expected something a little
6 closer in time as a potential source to see that.

7 Q. I am not following you. You're saying --
8 your expectation as to time, what do you mean by that?

9 A. Well, there usually has to be some source
10 that goes to that area. I suppose it could have been
11 dormant for two and a half months -- that's possible --
12 and something caused it to stir up. And so it's still
13 possible it could have been seeded at the time of his
14 original infection, if that was the source.

15 Q. Doctor, what I'm getting at is would
16 Vancomycin being given in early August of 1990 have
17 been a medication that might or could have prevented a
18 similar infection being present in Mr. Hanson's spine
19 three months later?

20 MS. YOKOYAMA: I would object to the form of the
21 question. You're asking him if Vancomycin had been
22 given in August, and we're not really certain when this
23 infection developed. You're not saying how long it
24 would have been given in August. Are you saying all

1 the way up until November would it have prevented the
2 infection that was subsequently diagnosed in the back?
3 I think your question leaves a lot of gaps in terms of
4 your hypothetical given, plus there is no foundation as
5 to whether or not Vancomycin should have even been
6 given back in August.

7 BY MR. MC MAHON:

8 Q. I'll withdraw the question.

9 Doctor, if a culture and sensitivity test in
10 August of 1990 had indicated a Methicillin-resistant
11 infection, what would have been a proper course of
12 treatment for that?

13 A. Well, if it was just localized at the toe, it
14 would have been to amputate the toe. And part of the
15 underlying course of Vancomycin -- I would have given a
16 one or two-week course of Vancomycin, something like
17 that.

18 Q. What would the Vancomycin do?

19 A. Because you haven't removed an infected toe,
20 it would just clear any potential infection that might
21 be left at that interface where you're taking the toe
22 off.

23 Q. Is there any other antibiotic other than
24 Vancomycin that would have been a proper antibiotic if

1 that was the case?

2 A. MRSA. That would be the one that you use.

3 Q. That's **it**, right?

4 A. Right.

5 Q. Now, Doctor, going back my original question
6 in regards to -- I want you to assume, as I said,
7 before that there is a causal connection between the
8 infection in the toe and the spine. Might or could the
9 Vancomycin have prevented a Methicillin-resistant
10 infection in the spine if it was given in August of
11 1990?

12 MS. ANDERSON: Are you talking about the two-week
13 course that he described?

14 BY MR. MC MAHON:

15 Q. The course you just described, correct?

16 A. If that's when the seeding occurred, yes, **it**
17 might have prevented **it**.

18 Q. Doctor, are you critical of any of the care
19 that Mr. Hanson received from June 4, 1990, until the
20 present medical care?

21 A. You keep saying June 4. Is **it** June 26 or
22 June 4? He was seen by Pina on June 26.

23 BY MR. MC MAHON:

24 Q. I'm sorry. Correct.

1 A. No, I'm not.

2 Q. Doctor, going back to Dr. Sumyk, you have
3 testified earlier that there was a doctor-patient
4 relationship established between Dr. Sumyk and
5 Mr. Hanson definitely as to those postoperative visits,
6 correct?

7 A. I don't know how many times he saw him. As I
8 understand it, he saw him once or twice, something like
9 that, and he was covering for Villasuso, as I
10 understand it. How much interaction he had with the
11 patient, whether he actually even saw the patient, is
12 not clear to me. I just know he was covering for him,
13 probably saw him on rounds, and that's all that I know.

14 Q. Doctor, what is the standard of care in
15 regards to a medical doctor who is seeing a patient on
16 rounds?

17 A. It's obviously to care for any problems they
18 have at the time. In this case, as a post-op case,
19 it's to check the patency of the graft, to check for --
20 because they are following the infection of the toe,
21 and make sure that was getting better and was stable;
22 and if there were any other problems, any acute new
23 problems that occurred, to manage those.

24 Q. Doctor, would a physician have to have an

1 understanding of a patient's clinical history and
2 current clinical status in order to meet that standard
3 of care?

4 A. You would have to have some understanding of
5 the patient, yes.

6 Q. How would he get that?

7 A. He could have it in this situation by reading
8 the chart, by making rounds. He could have had it from
9 discussions with Dr. Villasuso when it was assigned out
10 to him. He could have it in talking to the patient and
11 examining the patient directly.

12 Q. You're saying there's a number of different
13 ways he could have met that standard of care?

14 Is it your testimony that Dr. Sumyk could
15 have met that standard of care as to Mr. Hanson without
16 any review of his chart?

17 A. Potentially. I mean, that would -- to be
18 complete, but I think potentially if he had gotten a
19 very good summary from Dr. Villasuso and then had
20 examined and seen the patient himself, that could have
21 been accurate.

22 Q. Generally speaking, though, the method that a
23 doctor uses is to check the chart, correct?

24 A. Yes.

1 Q. Are you aware, Doctor, of any conversations
2 or any other type of preparation, as you discussed, as
3 to being an alternative between Dr. Villasuso and
4 Dr. Sumyk prior to his seeing the patient
5 postoperatively?

6 A. All I know is that he checked out to him on
7 these patients. What discussion there was, I don't
8 know.

9 Q. What do you mean by that, Doctor, "checked
10 out"?

11 A. Well, I mean, said he was going to turn these
12 patients over to him while he was either out for the
13 weekend or out of town or whatever it was.

14 Q. Doctor, assume for the purpose of my question
15 that there was no preparation or communication between
16 Dr. Villasuso and Dr. Sumyk and that Dr. Sumyk did not
17 read Mr. Hanson's chart. Would that be a deviation
18 from the standard of care?

19 MS. YOKOYAMA: I would object to the form of the
20 question simply because in order for Dr. Sumyk to
21 follow up on this patient -- I mean, there's going to
22 have to be some communications between he and
23 Dr. Villasuso.

24 BY MR. MC MAHON:

1 Q. Did you understand my question, Doctor?

2 A. I understand **it**, but I -- I mean, if none of
3 those things occurred, I would think that that was not
4 the standard of care.

5 Q. Just so we're clear, that would be a
6 violation of the standard of care, correct, Doctor?

7 A. If you are responsible for the patient, you
8 should know something about them.

9 Q. Doctor, what actions would the standard of
10 care require as to a doctor such as Dr. Sumyk if he
11 noted in the records that the patient had -- was highly
12 suspicious for osteomyelitis in regards to informing
13 Dr. Villasuso or another doctor?

14 A. At what point in time?

15 Q. For those postoperative visits. That's
16 something that he noted in the records.

17 A. If it's in the record, Dr. Villasuso should
18 know that himself.

19 Q. You're saying that **it** would not violate a
20 standard of care not to communicate that to anyone
21 else?

22 A. I would assume that this is Dr. Villasuso's
23 patient. The X-ray reports are in the chart. And I
24 would think that he would assume that Dr. Villasuso

1 understands all these things about his own patients. I
2 mean, it's not like it's new information or something
3 that happened while Dr. Villasuso was gone that should
4 have been communicated to him. If something happens
5 new and different while Dr. Villasuso is out of town,
6 that should be communicated to him.

7 Q. If Dr. Sumyk felt that a bone scan was
8 necessary to rule out spread of osteomyelitis -- assume
9 that for the purpose of my question -- would a standard
10 of care require him to order that test or to do
11 something else?

12 MS. ANDERSON: This is still for his post-op
13 follow up?

14 MR. MC MAHON: Correct.

15 THE WITNESS: I don't think he should have ordered
16 it. I think he would have had to discuss it with
17 Dr. Villasuso.

18 Do we have much longer?

19 MR. MC MAHON: I don't, Doctor. Probably five
20 minutes.

21 BY MR. MC MAHON:

22 Q. Doctor, what would be **the** standard of care
23 necessary for that doctor if he felt that a culture and
24 sensitivity was necessary as to the right fifth toe and

1 none have been done? What would be the appropriate
2 steps that a doctor should take in that circumstance?

3 A. Well, again, he's not the primary doctor. He
4 would just communicate **it** to the primary doctor if he
5 thinks -- if he has some thought process about the
6 patient that hasn't been done, he might just
7 communicate **it** with the primary doctor and see what the
8 primary doctor wants to do.

9 Q. Doctor, are there any measures that could
10 have been taken with Ken Hanson which could have
11 salvaged his leg between June 26 and November when he
12 goes into the hospital?

13 A. Well, he had the operation to salvage his
14 leg. He had the toe removed. That was healing. **It**
15 abscessed, but they opened it and it was healing on the
16 outside. He was making progress. And then after the
17 31st, the next time, I think, he is seen is the 11th
18 when he mentions the back pain to Dr. Pina, and he was
19 admitted. And at that point in time or shortly
20 thereafter the graft was occluded, so I don't know if
21 there was any -- **it** was infected at that time.

22 Q. Doctor, what's your understanding of what the
23 cause of the infection in the graft was?

24 A. Probably some contamination at the time of

1 surgery, most likely, since we don't have anything from
2 the foot to indicate there was psuedonomas in the foot.

3 Q. What's the basis for that opinion, Doctor?

4 A. Well, again, as I say, I can't say for sure.
5 All I know is that it was a pure growth of pseudomonas
6 without other bacteria. Operations -- clean operations
7 such as this have about a 2 percent wound infection
8 rate and about a 1 percent graft infection rate. And
9 he was one of those. And the bugs can be anywhere from
10 staph to E. coli to pseudomonas. It depends on what
11 might have contaminated the surgical site at the time
12 of the surgery. It's usually introduced at the time of
13 the surgery.

14 Q. Doctor, would you agree with me that 2
15 percent incident of infection would have been reduced
16 even further if an autogenous graft had been used,
17 rather than a gortex graft?

18 A. I mean, you may be talking 2 percent down to
19 1.9 percent.

20 Q. But the answer is, yes, there would be a
21 reduction in risk?

22 A. It's not clinically significant.

23 Q. What do you mean by "clinically significant"?

24 A. It doesn't make any significance on a

1 clinical basis, I can't say even it would be make a
2 difference. As I said, there has been no randomized
3 studies to look at the difference.

4 Q. Would you agree with me, Doctor, that it
5 might make clinical significance to a patient such that
6 it would be something that you would bring up with a
7 patient when you were discussing the risk of
8 procedures?

9 A. In making a basis between the two different
10 grafts?

11 Q. Right.

12 A. I wouldn't because it's a small thing. We
13 would spend all day talking about risks. And this is
14 not a risk that is a difference that you would discuss
15 with a patient.

16 MR. MC MAHON: I don't have anything else.

17 MR. BALOS: I have no questions of this witness at
18 this time.

19 EXAMINATION

20 BY MR. BROWN:

21 Q. Just two quick questions.

22 Doctor, you said earlier in your deposition
23 that you reviewed the record of Westlake Community
24 Hospital which included admission for the bypass

1 procedure, the removal of the toe, and the infection of
2 the graft in the second amputation, correct?

3 A. Yes.

4 Q. Based upon your review of those records, do
5 you have any criticisms of the care and treatment
6 rendered to the plaintiff in this case by the people at
7 Westlake Community Hospital.

8 A. No, I don't.

9 MR. BROWN: Thank you.

10 MR. GARNELLO: I have no questions.

11 EXAMINATION

12 BY MR. WHELAN:

13 Q. I believe you answered this. It's my
14 understanding that you do not have any criticisms of
15 the care and treatment provided to the plaintiff by
16 either Dr. Pina or the Melrose Park Clinic?

17 A. That's correct.

18 EXAMINATION

19 BY MR. BALOS:

20 Q. Doctor, I have two questions. I would like
21 to show you what's been previously marked as Sumyk
22 Deposition Exhibit No. 7. You referenced that earlier.

23 MR. MC MAHON: Are you going to mark it?

24 MR. BALOS: If you want me to mark it, I can mark

1 MR. GARNELLO: If I can, for the record, is there
2 any deviation -- do you feel there is any deviation
3 with the standard of care from the treatment of
4 Dr. Giachinno at the Melrose Park Clinic sometime on, I
5 think, the 4th or 5th of August of 1990?

6 THE WITNESS: No.

7 MR. MC MAHON: I didn't follow. Do you still have
8 that exhibit?

9 (Discussion off the record.)

10 MR. MC MAHON: Doctor, have you had any contact
11 with any of the attorneys in the case other than
12 Ms. Yokoyama and Ms. Anderson?

13 THE WITNESS: No.

14 MR. MC MAHON: I don't have anything else.

15 MS. ANDERSON: We'll reserve.

16

17

FURTHER DEPONENT SAITH NOT

18

19

20

21

22

23

24

1 STATE OF ILLINOIS)
) SS:
 2 COUNTY OF COOK)

3 I, Lucia R. Filippelli, a notary public within
 4 and for the County of Cook and State of Illinois, do
 5 hereby certify that heretofore, to-wit, on the 16th
 6 of May, 1995, Fred Nelson Littooy personally appeared
 7 before me at One North LaSalle Street, Suite 3500, in
 8 the City of Chicago, in the County of Cook and State of
 9 Illinois, a witness in a certain cause now pending and
 10 undetermined in the Circuit Court of Cook County,
 11 Illinois, wherein Kenneth Hanson is plaintiff and
 12 Rodolfo Patino, M.D., et al., are defendants.

13 I further certify that the said witness was
 14 first duly sworn to testify the truth, the whole truth
 15 and nothing but the truth in the cause aforesaid; that
 16 the testimony then given by said witness was reported
 17 stenographically by me, in the presence of the said
 18 witness, and afterwards reduced to typewriting by
 19 Computer-Aided Transcription, and the foregoing is a
 20 true and correct transcript of the testimony so given
 21 by said witness as aforesaid.

22 I further certify that the signature of the
 23 witness to the deposition was not waived.


24 I further certify that the taking of this

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

deposition was in pursuance of notice; and that there were present at the taking of this deposition the attorneys as hereinbefore noted.

I further certify that I am not counsel for nor in any way related to the parties to this suit, nor am I in any way interested in the outcome thereof.

In testimony whereof I have hereunto set my hand and affixed my notarial seal this 1st day of June, 1995.



Lucia R. Filippelli

Lucia R. Filippelli, CSR No. 84-3160
Notary Public, Cook County, Illinois

8-9-90 - Farm trip

8-13 - off/6 - saw 53 for

well counter

8-31 - camp - collected - Cipro (Starks)

9-4 - off/6 - hypoxia - (spider out)

9-11 - off/6 - 45011 (off) - FPO

9-23 - off/6 - well/OK

10-2 - Antibiotic

10-23 - " - much better - PTC - 3 wks

Panic, Satino - 0 Chk - Submerged bamboo

6-22-90 - 0 - Drained off - faintest rainfall

8-22-90 - 0 - organ - Cipro

8-4-90 - 0 - (FA) 0 cells dx - Rec - FYP

8-24-90 - 0 - 513 vs no collect, on Cipro

10-23-90 - 0 - 2016 - CBC - (MAC)

Foot healing 2 1/2 wks

11-1-90 - Acute low-back pain E force

2 wks. weakness - recovery

CURRICULUM VITAE
FRED NELSON LITTOOY, M.D.

Personal Data:

Littooy DER. EX. NO. 1
FOR ID., AS OF 5/16/95

Birthplace: Kansas City, Missouri
Date of Birth: May 6, 1943
Marital Status: Divorced - four children
Home Address: 2000 Lincoln Park West Apt 1101
Chicago, IL 60614
Home Phone: (312) 935-5112
Office Address: Loyola University Medical Center
Department of Surgery
2/60 South First Avenue
Maywood, Illinois 60153
Office Phone: (708)216-9165

Ems Bldg

Positions:

Professor of Surgery, Loyola University Medical Center 1989-
Associate Professor of Surgery, Loyola University Medical Center 1983-1989
Assistant Professor of Surgery, Loyola University Medical Center 1977-1983
Chief of Peripheral Vascular Surgery, Hines V.A. Hospital 1980-
Assistant Chief of Peripheral Vascular Surgery, Hines V.A. Hospital 1977-1980
Clinical Instructor in Surgery, Univ. of California San Francisco 1976-1977

Post Graduate Training:

Fellow in Vascular Surgery, UCSF (Edwin J. Wylie) 1976-1977
Chief Resident, General Surgery, UCSF (Paul A. Ebert) 1975-1976
Senior Resident, General Surgery, UCSF (J.E. Dunphy) 1974-1975

Research Fellow, Wound Healing Laboratory, UCSF (Thomas K. Hunt) 1972-1974

Clinical Fellow, American Cancer Society, UCSF 1972-1973

Clinical Fellow, Melanoma Clinical Cooperative Study, UCSF 1972-1973

Junior Resident, General Surgery, UCSF (J.E. Dunphy) 1970-1972

Straight Surgical Internship, UCSF (J.E. Dunphy) 1969-1970

Education:

University of Kansas School of Medicine, M.D. 1969

University of Kansas, A.B. 1965

German Summer Language Institute, Munich, Germany (Univ. of Kansas) 1963

Honors, Scholarships, and Certifications:

Certification by American Board of Surgery 1977

Recertification by American Board of Surgery 1989

*Certification of Special Qualifications in Vascular Surgery
by American Board of Surgery 1983*

NIH Training Grant, UCSF 1972-1976

Alpha Omega Alpha, University of Kansas School of Medicine 1968

Sachem, Men's Honor Society, University of Kansas 1965

University of Kansas Honor Scholarships 1961-1965

Research Positions:

Co-Investigator: Wyeth Ayerst Research Protocol number 622A-209-U5; "Comparison of The Efficacy & Safety of Subcutaneous RD/Heparin vs. Subcutaneous Unfractionated Heparin for The Prevention of Deep Venous Thrombosis in Patients Undergoing Abdominal or Pelvic Surgery for Cancer" 1989 -

Principal Investigator: VA Cooperative Study #309: "The Role of Carotid Endarterectomy in Preventing Stroke from Symptomatic Carotid Stenosis" 1989 - 1991

August 2, 1993

*Principal Investigator: "Asymptomatic Carotid Atherosclerosis Study" USPHS NIH NINCOS
2 RO1 N5-22611-03 1989 -*

*Principal Investigator: VA Cooperative Study #141: "Efficacy of Vascular Graft Materials
in Lower Extremities by Revascularization" 1983 - 1991*

*Principal Investigator: VA Cooperative Study #199: "Percutaneous Transluminal Angioplasty
of the Lower Extremity" 1983 - 1989*

*Principal Investigator: VA Cooperative Study #362: "Oral Anticoagulant Therapy to Improve
Patency of Small Caliber Prosthetic Bypass Grafts" 1991 -*

*Co-Investigator: NIH-North American Symptomatic Carotid Endarterectomy Trial (NASCET)
1991 -*

*Principal Investigator: VA Cooperative Study #379: "The Aneurysm Detection and
Management (ADAM) Study" 1992 -*

Member of Medical and Surgical Societies:

Alpha Omega Alpha Honor Medical Society 1968

Naffziger Surgical Society 1976

Association for Academic Surgery 1977

Midwestern Vascular Surgical Society 1979

International Society for Cardiovascular Surgery 1979

American College of Surgeons, Fellow 1980

National Association of VA Physicians 1980

Illinois Surgical Society 1981

Association of Veterans Administration Surgeons 1981

Chicago Surgical Society 1981

Midwest Surgical Association 1984

Central Surgical Association 1987

Western Surgical Association 1987

Society for Vascular Surgery 1987

August 2, 1993

BIBLIOGRAPHY: (Papers Published or in Press):

1. *Heppenstall RB, LITTOOY FN, Fuchs R, Sheldon GS and Huiit TK: Gas tensions in healing (issues of traumatized patients. Surgery. 1971; 75:874-880.*
2. *Cook PA, Heppenstall RB, LITTOOY FN, Hunt TK and Wylie EJ: The tissue oxygen tension as an index of collateral blood flow during acute arterial occlusion. So African J Surg. 1974; 12:91-99.*
3. *LITTOOY FN, Fuchs R, Huiit TK and Sheldon GS: Tissue oxygen as a real-time measure of oxygen transport. J Surg Res. 1975; 20:321-325.*
4. *Saletta C, Hopkiis W, LITTOOY FN and Baker WH: Femoral-isolated popliteal bypass: selected use in severe limb ischemia. Am Surg. 1980; 46:436-438.*
5. *Baker WH, Hadcock MM and LITTOOY FN: The management of polytetra- fluoroethylene (PTFE) graft occlusions. Arch Surg. 1980; 115:508-513.*
6. *Swanson RJ, LITTOOY FN, Huiit TK and Stoney RJ: Laparotomy as a precipitating factor in the rupture of intra-abdominal aneurysm. Arch Surg. 1980; 115:299-304.*
7. *Childs G, Baker IVH, LITTOOY FN, Pifarre R and Sullivan HJ: Outcome of patients with combined coronary and peripheral atherosclerosis. Current Surg. 1981; 38(2):140-143.*
8. *Field T, LITTOOY FN, and Baker WH: Immediate and long-term outcome of acute arterial occlusion of the extremities: the effect of added vascular reconstruction. Arch Surg. 1982; 117:1156-1160.*
9. *Mahler DK, Michelini MA, Foldes MS, Hayes AC, LITTOOY FN and Baker IVH: Treadmill testing in peripheral arterial disease that can be learned from extended testing. Bruit. 1982; 6:21-24.*
10. *Mahler DK, Foldes MS, Hayes AC, Halstuk K, LITTOOY FN and Baker WH: Follow-up of old deep venous thrombosis by Doppler ultrasound and strain gauge plethysmography. Bruit. 1982; 6:30-34.*
11. *Hadcock WE, Hadcock MM, LITTOOY FN and Baker WH: Preoperative evaluation of polytetrafluoroethylene graft occlusions. Is angiography of value? Am Surg. 1982; 48(11):563-566.*
12. *Baker IVH, Hayes AC, Mahler D and LITTOOY FN: Durability of carotid endarterectomy. Surgery. 1983; 94(1):112-115.*
13. *Hadcock MM, Ubaruba J, LITTOOY FN and Baker WH: Hemodynamics of sequential grafts. Ani J Surg. 1983; 146:170-173.*

14. Baker WH, LITTOOY FN, Hayes AC, Dorner DB and Stubbs D.: Carotid endarterectomy without a shunt: The control series. *J Vasc Surg.* 1984; 1:50-57.
15. Halstuk KS, Baker WH and LITTOOY FS: External carotid endarterectomy. *J Vasc. Surg.* 1984; 1(3):398-402.
16. LITTOOY FN, Halstuk WS, Mamdani M, Calandra D, Hayes AC, Baker WH.: Factors influencing morbidity of carotid endarterectomy without a shunt. *Amer Surgeon.* 1984; 50:353-356.
17. Biller J, Hayes AC, LITTOOY FN and Baker WH: Intracerebral hemorrhage after carotid endarterectomy. *Henry Ford Hospital Med Jour.* 1984; 32: 197-203.
18. Halstuk KS, Baker WH, LITTOOY FN: Absent common carotid artery associated with amaurosis fugax: A Case Report. *Surgery.* 1985; 97:502-507.
19. Michelini MA, Boynton AS, Mahler DK, Foldes MS, Hayes AC, LITTOOY FN and Baker WH: Combining carotid tests: If three is good, can two be better?. *Bruit.* 1985; 9:20-22.
20. Dennis JW, LITTOOY FN, Greisler HP and Baker WH: Anastomotic pseudoaneurysms: A continuing late complication of vascular reconstructive procedures. *Arch Surg.* 1986; 121:314-318.
21. Baker WH, Mahler DK, Foldes MS, Michelini MA, Hayes AC, LITTOOY FN and Greisler HP: Pneumatic compression devices for prophylaxis of deep venous thrombosis (dvt). *Amer Surgeon.* 1986; 371-373.
22. Blakeman BM, LITTOOY FN and Baker WH: Intra-arterial digital subtraction angiography as a method to study peripheral disease. *J Vasc Surg.* 1986; 4:168-173.
23. LITTOOY FN and Baker WH: Acute aortic occlusion - A multifaceted catastrophe. *J Vasc Surg.* 1986; 4:211-217.
24. Dennis JJ, Baker WH, LITTOOY FN and Dobrin PB: The relationship between neointimal fibrous hyperplasia and distal anastomotic angles in vein bypass grafts. *Current Surg.* 1986; 43:202-205.
25. Hayes AC, Mahler DK, Foldes MS, Michelini MA, LITTOOY FN, and Baker WH: Reproducibility of transcutaneous continuous-wave doppler testing of the carotid arteries. *Ann Vasc Surg.* 1987; 1:469-473.
26. LITTOOY FN, Widerborg KA, Greisler HP, and Baker WH: Effect of prior arterial reconstruction on the outcome of femorofemoral bypass. *Am Surg.* 1987; 53:446-450.
27. Baker WH, LITTOOY FN, Greisler HP, Dorner DB, Ford, Jr, JJ, Mungas JE, Saletta CW, Stern ME, Van Speybrock JAV, Halstuk KS, Field TC, and Yates GN: Carotid endarterectomy in private practice by fellowship-trained surgeons. *Letters to the Editor. Stroke.* 1987; 18:957-958.

28. Dobrii PB, LITTOOY FN, Golan J, Blakeman B, and Fareed J: Mechanical and histologic changes in canine vein grafts. *J Surg Res.* 1988; 44:259-265.
29. Veteran's Administration Cooperative Study #141 (Listed as one of multiple authors): Comparative evaluation of prosthetic reversed and in situ vein bypass grafts in distal popliteal and tibial/peroneal revascularization. *Arch Surg.* 1988; 123:434-438.
30. Pinzur MS, LITTOOY FN, Osterman H, and Wafer D: Early post-surgical prosthetic limb fitting. *Orthopedics.* 1988; 11:1051-1053.
31. Dennis JW, LITTOOY FN, Greisler HP and Baker WH: Secondary vascular procedures with polytetrafluoroethylene grafts for lower extremity ischemia in a male veteran population. *J Vasc Surg.* 1988; 8:137-142.
32. LITTOOY FN and Baker WH: Aortic aneurysm and aortocaval fistula: diagnosis and intraoperative management. *Seminars in Vascular Surgery.* 1988; 1:182-187.
33. LITTOOY FN, Baker WH, Field TC, Halstuk KS, Phillips J, and Naheedy MH: Anomalous branches of the cervical internal carotid artery: Two cases of clinical importance. *J Vasc Surg.* 1988; 8:634-637.
34. Wilson SE, Wolf GL, Cross AP, et al: Percutaneous transluminal angioplasty versus operation for peripheral arteriosclerosis. *J Vasc Surg.* 1989; 9:1-10.
35. Dobrin PB, LITTOOY FN, and Endean ED: Mechanical factors predisposing to intimal hyperplasia and medial thickening in autogenous vein grafts. *Surgery.* 1989; 103:393-400.
36. LITTOOY FN, Steffan G, Greisler HP, White TL, and Baker WH: Use of sequential b-mode ultrasonography to manage abdominal aortic aneurysm. *Arch Surg.* 1989; 124:419-421.
37. Pinzur MS, LITTOOY FN, Osterman H, and Schwartz D: A safe, pre-fabricated, immediate postoperative prosthetic limb system for rehabilitation of below-knee amputation. *Orthopedics.* 1989; 12:1343-1345.
38. LITTOOY FN: Meeting Highlights; Thirteenth Annual Meeting of the Midwestern Vascular Surgical Society. *Vascular Surgery Outlook.* 1989; 1:3-8.
39. Ablan CJ, LITTOOY FN, and Freeark RJ: Postoperative chylous ascites: diagnosis and treatment. *Arch Surg.* 1990; 125:270-273.
40. Whereatt NE, Burke K, LITTOOY FN, Greisler NP, and Baker WH: An Evaluation of External Jugular Vein Patch Angioplasty after Carotid Endarterectomy. *The American Surgeon.* 1990; 56:455-459.
41. Wilson SE, White GH, Wolf G, Cross AP, and the Principal Investigators of Veterans Administration Cooperative Study No. 199. Proximal Percutaneous Balloon Angioplasty and Distal Bypass for Multilevel Arterial Occlusions. *Annals of Vascular Surgery.* 1990; 4:351-355.

42. LITTOOY FN, *Meeting Highlights; Fourteenth Annual Meeting of the Midwestern Vascular Surgical Society. Vascular Surgery Outlook. 1990; 2(8):3-6.*
43. LITTOOY FN, *Meeting Highlights; Fourteenth Annual Meeting of the Midwestern Vascular Surgical Society. Vascular Surgery Outlook. 1990; 2(9):3-6.*
44. Springhorn ME, Kinney M, LITTOOY FN, Saletta C, and Greisler HP: *Inflow Atherosclerotic Disease Localized to the Common Femoral Artery: Treatment and Outcome. Annals of Vascular Surgery. Annals of Vasc Surg. 1991; 5:234-240.*
45. Endean ED, Stephen G, Chmura C, Gupta SR, and LITTOOY FN: *Outcome of Asymptomatic Cervical Bruits in a Veteran Population. J Cardiovasc Surg. 1991; 32:620-626.*
46. Bushnell DL, Gupta S, Barnes WE, LITTOOY FN, Niemi M, and Steffen G: *Evaluation of cerebral perfusion reserve using 5% CO₂ and SPECT neuroperfusion imaging. Clinical Nuclear Medicine. 1991; 16:263-267.*
47. *Veteran's Administration Cooperative Study #141 (Listed as one of multiple authors): Donor limb vascular events following femoro-femoral bypass surgery. Arch Surg. 1991; 126:681-686.*
48. *Veteran's Administration Cooperative Study #309 [Listed as one of multiple authors]: Carotid endarterectomy and prevention of cerebral ischemia in symptomatic carotid stenosis. JAMA. 1991; 266:3289-3294.*
49. LITTOOY FN: *Presidential Address: Initiation of the Vascular Fellow - A major challenge for vascular surgery educators. J Vasc Surg. 1992; 15:741-746.*
50. Pinzur MS, LITTOOY FN, Daniels J, Arney C, Reddy NK, Graham G, and Osterman H: *Multidisciplinary preoperative assessment and late function in dysvascular amputees: Clinical Orthopaedics. 1992; 281:239-243.*
51. Dobrin PB, Golan J, Fareed J, Blakeman B, and LITTOOY FN: *Pre- vs postoperative pharmacologic inhibition of platelets: Effect on intimal hyperplasia in canine autogenous vein grafts. J Cardiovasc Surg. 1992; 33:705-709.*
52. LITTOOY FN, Steffen G, Steinam S, Saletta C, and Greisler HP: *An 11-year experience with aortofemoral bypass grafting. Cardiovasc Surg. 1993; 3:232-238.*
53. Wilson SE, Mayberg MR, Yatsu F, Weiss DG, LITTOOY FN, and the Veterans Affairs Trialists: *Crescendo transient ischemic attacks: A surgical imperative. J Vasc Surg. 1993; 17:249-256.*

Abstracts:

1. LITTOOY FN, Fuchs R, Hui T, and Sheldon GS: *Tissue oxygen as a real-time measure of oxygen transport. Abstracted on pg. 33 of 1977 Year Book of Surgery. Edited by S. Schwartz. Year Book Medical Publishers, Inc., Chicago-London, 1977.*

August 2, 1993

2. Baker WH, Hayes AC, Mahler D and LITTOOY FS: Durability of carotid endarterectomy. 1985 Yearbook of Neurology and Neurosurgery. Edited by DeJong, Sugar, Curren. Yearbook Medical Publishers, Inc. Chicago, 1985, pp 445-456.

Brief Communications:

1. LITTOOY FN: Use of sequential b-mode ultrasonography to manage abdominal aortic aneurysms. Arch of Surg. 1989; 124:1464.

Books and/or Chapters:

1. Hunt TK and LITTOOY FN: Physiology and Management of Wounds. Brief textbook of Surgery, edited by C.P. Artz, I. Cohn, Jr., J.H. Davis. W.B. Saunders Co., Philadelphia, 1976. pp. 1-18.
2. LITTOOY FN: Cerebrovascular Insufficiency Understanding and Recognition. Chapter I. In: Diagnosis and Treatment of Carotid Artery Disease edited by W.H. Baker, M.D., Futura Publishing Co., Mount Kisco. New York, 1979. pp. 1-27.
3. LITTOOY FN and Baker WH: Major Arteriovenous Fistulas of the Aortic Territory. In: Surgery of the Aorta and Its Body Branches, edited by Drs. John J. Bergan and James S.T. Yao, Grune & Stratton, New York, 1975. pp. 605-619.
4. LITTOOY FN and Hunt TK: Wound Healing. In: Otolaryngology edited by Michael M. Paparella, M.D. and Donald Shumrick, M.D., W.B. Saunders Co., Philadelphia, 1980, pp. 650-657.
5. Baker WH, Hayes AC and LITTOOY FN: Bilateral Carotid Stenosis: Is Multiple-Modality Noninvasive Testing Reliable? Chapter in Noninvasive Cardiovascular Diagnosis, edited by Edward B. Diethrich, M.D., PSG Publishing Co., Littleton, Massachusetts, 1981. pp. 27-32.
6. LITTOOY FN and Baker WH.: Acquired Diseases of the Aorta. Chapter in CONN'S CURRENT THERAPY, edited by Robert E. Rakel, M.D., W.B. Saunders Co., 1984. pp. 137-141.
7. LITTOOY FN and Baker WH: Excision of Carotid Aneurysm. In: Mastery of Surgery, Vol. II, Edited by Lloyd M. Nyhus and Robert J. Baker, Little Brown and Co., 1984, pp 1411-1418.
8. Slemmer TM, Potter D, LITTOOY FN, Feduska NJ and Salvatierra O, Jr.: Vascular Access in the Pediatric Patient Population. In: Angioaccess: Principles and Practices, Waltzer and Rapaport (eds.), Grune and Stratton Publishers, Inc., 1984, pp 131-144.
9. LITTOOY FN and Baker WH: Ruptured Abdominal Aortic Aneurysm. In: Complications in Vascular Surgery, edited by V.M. Bernard and J.B. Towne, Grune and Stratton, Orlando, FL 1985, pp. 121-142.

August 2, 1993

10. LITTOOY FN and Baker WH: *The Use of Lumbar Sympathectomy in Lower Extremity Vascular Disease*. In: Vascular Surgery of the Lower Extremity. Edited by Jarrett, F., Hirsch, S. C.V. Mosby Co., St. Louis, MO 1985, pp 184-191.
11. LITTOOY FN: *Transient ischemic attacks and stroke secondary to external carotid artery atherosclerosis*. In: Current Therapy in Vascular Surgery. Edited by Ernst, C., Stanley, J. B.C. Decker, 1987, pp 49-51.
12. LITTOOY FN, Stray-Gunderson J, and Baker JVH: *Vascular Considerations*. In: Pelvic Surgery: A Multidisciplinary Approach. Edited by Isaacs, JH, Byrne MP. Futura Publishing Co., 1987, pp 193-210.
13. Freeark R and LITTOOY FN: *Vascular Trauma*. In: Operative Surgery Principles and Techniques. Edited by Nora, PF. W. B. Saunders Company., 1990, pp 1017-1030.
14. Dobrin PB and LITTOOY FN: *Arterial Injuries Caused by Balloon Catheter Embolectomy: Causes and Prevention*. In: Iatrogenic Vascular Injury: A Discourse on Surgical Technique. Edited by Bunt, TJ, Futura Publishing Co., 1990, pp 101-125.
15. LITTOOY FN: *Acute Infrarenal Aortic Thrombosis*. In: Current Therapy in Vascular Surgery. Edited by Ernst CB and Stanley JC, BC Decker, Inc., 1990, pp 410-413.
16. Krighton DR, LITTOOY FN, and Hunt TK: *Wound Healing*. In: Otolaryngology Volume I: Basic Sciences and Related Principles. Edited by Paparella MM, Shumacker DA, Glickman JL, and Megerhoff WL. W. B. Saunders Company., 1991, pp 667-674.
17. LITTOOY FN and Freeark RJ: *Missed Vascular Injuries: Complications and Prevention*. In: Civilian Vascular Trauma. Edited by D. Preston Flanigan. Lea & Febiger, 1952, pp 52-57.

Book Review:

1. "Acute peripheral vascular surgery" by Michael Standacher. *J Vascular Surg.* 4: 538, 1986.

Exhibits:

1. "Multiple Method Non-Invasive Cerebrovascular Testing". Presented at American College of Surgeons, October, 1978, and American College of Cardiology. March, 1979.
2. "Reoperation after Complications of Aortoiliac-femoral Inflow Procedures". Poster presentation at The Association of Veterans Administration Surgeons, Atlanta, Georgia. May 13-15, 1982.
3. "Improved Results in Carotid Endarterectomy with Selective Shunting". Presented at the Eighth Annual Surgical Symposium Assoc. of VA Surgeons, Los Angeles, California. May 9-12, 1984.
4. "Carotid Surgery in Patients with Acute Stroke". Presented at the Eighth Annual Surgical

August 2, 1993

Symposium Assoc. of VA Surgeons, Los Angeles, California. May 9-12, 1984.

Presentations:

1. *"Tissue Oxygen as a Real-Time Measure of Oxygen Transport". Association for Academic Surgery, Minneapolis, Minnesota. November 1975.*
2. *"Retial Artery Thromboendarterectomy". Midwestern Vascular Society, Chicago, Illinois. September 1977.*
3. *"Vascular Injuries Secoiidary to Blunt Trauma". Chicago Committee on Trauma, American College of Surgeons Trauma Conference, Chicago, Illiiois. December 1977.*
4. *"Abdoniiiiil Aortic Aneurysm". Combined Surgical Grand Rounds (Cook County Hospital, Metropolitan Group of Hospital. University of Illinois aiid West Side V.A.), Chicago, Illiiois. April 1978.*
5. *"Aortic Aneurysm". Weiss Memorial Hospital, Chicago, Illiiois. May 1978.*
6. *"Laparotomy as a Precipitating Factor in the Rupture of Intra-Abdominal Aneurysm". Society of Vascular Surgery. Nashville, Tennessee. Juie 1979. (Presented by R.J. Swanson)*
7. *"Femoral-Isolated Popliteal Bypass: Selected Use in Severe Limb Ischemia". Midwest Surgical Society, Lincolnshire, Illiiois. August 1979. (Presented by Charles Saletta)*
8. *"The Management of Polytetraflouroethylene (PTFE) Graft Occlusions". Western Surgical, Denver, Colorado. November 1979. (Preseiited by M. Margaret Hadcock)*
9. *"Major Arteriovenous Fistulas of rhe Aortic Territory". Symposium on Surgery of the Aorta aiid Its Body Braiiiches. Chicago, Illiiois. December 1979.*
10. *"Outcome of Patients with Combined Coronary aiid Peripheral Atherosclerosis". Society of University Surgeons, Houston, Texas. February 1980. (Preseirted by George Childs)*
11. *"Immediate aiid Long Term Outcome of Acute Arterial Occlusioii of the Extremities: The Effect of Added Vascular Reconstruction". The Associatioil of V.A. Surgeons. Minneapolis, Minnesota. May 1981. (Presented by Timothy Fieldj)*
12. *"Indications aiid Methods for Vena Cava Interruption". Chicago Medical Sociely 37th Annual Conference, Chicago, Illiiois. May 1981.*
13. *Round Table Discussioii on "Surgery". Chicago Medical Society 37th Annual Conference, Chicago, Illiiois. May 1981.*
14. *"Complications of Amputations in the Diaheric Patient". Northlake Surgical Seminar, Northlake, Illiiois. May 1981.*

August 2, 1993

15. "Amputation of the Foot in the Diabetic Patient". Northlake Surgical Seminar, Northlake, Illinois. May 1981.
16. "Amputation of the Leg in the Diabetic Patient". Northlake Surgical Seminar, Northlake, Illinois. May 1981.
17. "Complications of Treatment of Vascular Injuries of the Lower Extremity". Northlake Surgical Seminar, Northlake, Illinois. May 1981.
18. "Intimal Hyperplasia and Medial Thickening in Autogenous Vein Bypass Grafts: Influence of Anastomoses and Platelet-Inhibiting Drugs". The Association for Academic Surgery, Chicago, Illinois. November 1981.
19. "Acute Visceral Ischemia". West Suburban Hospital, Oak Park, Illinois. September 1982.
20. "Continuous Wave Doppler Assessment of Carotid Arteries: Is it Reproducible?" San Diego Symposium on Noninvasive Diagnostic Techniques in Vascular Disease. San Diego, California. October 1982. (Presented by Andrew C. Hayes)
21. "Factors Influencing Morbidity of Carotid Endarterectomy Without a Shunt". Midwest Surgical Association 25th Annual Meeting, Huron, Ohio. August 1983.
22. "External Carotid Revascularization - Correlation of Indications and Results". Midwest Surgical Association 25th Annual Meeting, Huron, Ohio. August 1983. (Presented by Kevin Halstuk, M.D.).
23. Panelist: "Heparin, Warfarin, and Thrombolytic Therapy". Midwest Surgical Association Annual Meeting, Huron, Ohio. August 1983.
24. "External Carotid Revascularization - Correlation of Indication and Results". Midwestern Vascular Surgical Society Seventh Annual Meeting, Chicago, Illinois. September 1983. (Presented by Kevin Halstuk, M.D.)
25. Panelist: "Carotid Reconstruction". Midwestern Vascular Surgical Society Seventh Annual Meeting, Chicago, Illinois. September 1983.
26. "Management of Patients with Aortic Aneurysms: Infrainguinal, Supra-inguinal and Thoracoabdominal". The Cook County Graduate School of Medicine Specialty Review in Vascular Surgery. Chicago, Illinois. November 1983.
27. "Acute Arterial Thromboembolism". The Cook County Graduate School of Medicine Specialty Review in Vascular Surgery. Chicago, Illinois. November 1983.
28. "Intra-arterial Subtraction Angiography as a Method to Study Peripheral Vascular Disease" Midwestern Vascular Surgery Meeting, Columbus, Ohio. September 1984. (Presented by Bradford Blakeman, M.D.)
29. "Anastomotic Pseudoaneurysms: A Continuing Late Complication of Vascular Reconstructive Procedures Association of VA Surgeons. Tampa, Florida. May 1985. (Presented by James Dennis, M.D.)

August 2, 1993

FRED N. LITTOOY, MD

12

August 2, 1993

30. "Pneumatic Compression Devices in Prophylaxis of Deep Venous Thrombosis (DVT). Midwest Surgical Association. Lake Geneva, Wisconsin. August 18-21, 1985. (Presented by William H. Baker, M.D.)
31. "Acute Aortic Occlusion - A Multi-Faceted Catastrophe. Midwest Surgical Association. Lake Geneva, Wisconsin. August 18-21, 1985.
32. "Acute Aortic Occlusion - A Multi-Faceted Catastrophe. Midwestern Vascular Society. Chicago, Illinois. September 1985.
33. "Medicate for Today: Update on Peripheral Vascular Surgery". Illinois Academy of Family Physicians Postgraduate Program. Oak Brook, Illinois. March 1986.
34. "Effect of Prior Arterial Reconstruction on the Outcome of Femorofemoral Bypass". Midwest Surgical Association. Mackinac Island, Michigan. August 1986. (Presented by Katherine A. Widerborg, M.D.)
35. "Redo Vascular Procedures for Lower Extremity Ischemia - A Dilemma". Midwestern Vascular Surgical Society. Indianapolis, Indiana. September 1986. (Presented by James W. Dennis, MD)
36. "Comparative Evaluation of Prosthetic, Reversed, and In Situ Bypass Grafts in Distal Popliteal and Tibial/Peroneal Revascularization" Association of VA Surgeons. Portland, Oregon. May 1987. (Presented by Willard C. Johnson, M.D.).
37. Panelist: "Management of Thoracic Inlet and Neck Wounds" The Second Annual Conference for The Directors of Surgery in Chicago. Rosemont, Illinois. November 1987.
38. "Mechanical Factors Predisposing to Intimal Hyperplasia and Medial Thickening in Autogenous Vein Grafts." Association for Academic Surgery. Orlando, Florida. November 1987. (Presented by Philip B. Dobrin, M.D.).
39. "Results of the Management of Veteran Patients with Abdominal Aortic Aneurysms Over a 10-Year Period." Association of VA Surgeons. Minneapolis, Minnesota. May 1988.
40. "Percutaneous Transluminal Angioplasty Versus Bypass Surgery for Peripheral Arteriosclerosis: A Four Year Report." The Society for Vascular Surgery. Chicago, Illinois. June 1988. (Presented by Samuel F. Wilson, MD).
41. "Peripheral Vascular Disease - DVT and Arterial Occlusion." Medicine For Today. Winfield, Illinois. October 1988.
42. "Assessment of the Dysvascular Amputee" Prosthetic/Orthotic Course. Hill VA Hospital, Hill, Illinois. November 1988.
43. "Postoperative Chylous Ascites Diagnosis and Treatment: A series report and literature review" Chicago Surgical Society, Chicago, Illinois. February 1989.
44. "Advances in Vascular Surgery: New Developments and Practical Considerations" University of Illinois, Cook County Graduate School, Chicago, Illinois. March 1989.

August 2, 1993

45. *"Natural History of Asymptomatic Carotid Bruits" Association of VA Surgeons, San Antonio, Texas. May 1989 (Presented by Eric D. Endean, MD).*
46. *"An Evaluation of External Jugular Vein Patch Angioplasty after Carotid Endarterectomy" Midwest Surgical Association, Kohler, WI. August 1989 (Presented by Nancy E. Whereatt, MD).*
47. *"Inflow Atherosclerotic Disease Localized to the Common Femoral Artery: An Unusual Entity: Midwestern Vascular Surgical Society, Chicago, Illinois. September 1989 (Presented by Mary E. Springhorn, MD).*
48. *"Recognition and Management of Acute Arterial Occlusion". Regional Vascular Conference, University of Wisconsin School of Medicine, Madison, Wisconsin. November 1989.*
49. *"Management of Peripheral Vascular Disease". Thirteenth Annual Midwest Regional "Primary Care Update", Illinois Academy of Physician Assistants, Hyatt Oakbrook, Oakbrook, Illinois. November 1989.*
50. *Moderator: Panel discussion; Extra-Anatomical Bypass. Techniques in Arterial Surgery, Northwestern University Symposium, Chicago, Illinois. December 1989.*
51. *"Portosystemic Shunting". Loyola University Stritch School of Medicine, Surgical Grand Rounds, Maywood, Illinois. January 1990.*
52. *"Acute Arterial Occlusion", University of Florida Health Science Center, Surgical Grand Rounds, Jacksonville, Florida. February 1990.*
53. *"Acute Aortic Occlusion". Jacksonville Vascular Society Meeting, University Club, Gulf Lake Towers, Jacksonville, Florida. February 1990.*
54. *"Surgical Case Presentations", Gore-Tex Medical Products. Edmond, Oklahoma. July 1990.*
55. *"Splanchnic Arterial and Venous Disease" Speciality Review in General Surgery - Part I, University of Illinois Cook County Graduate School. August 1990.*
56. *"An eleven year experience with aortofemoral bypass grafting", Midwestern Vascular Surgical Society Meeting, Toledo, Ohio. September 1990.*
57. *"Aorto - Iliac Reconstruction" and "Small Vessel Reconstruction, In-Situ Grafting", Loyola University Medical Center, The Diabetic Foot: Methods for Preservation and Salvage. Oak Brook Hills, Illinois. October 1990.*
58. *"Acute Mesenteric Ischemia", Medical Grand Rounds, Hines VA Hospital, Hines, Illinois. October 1990.*
59. *"Portal Hypertension, Thromboembolism, and Venous Stasis" Speciality Review in General Surgery, Part II, The Cook County Graduate School, Chicago, Illinois. November 1990.*
60. *"Arterial and Venous Insufficiency: Current Management" Advances in Family Medicine, The Cook County Graduate School, Chicago, Illinois. March 1991.*

August 2, 1993

61. "Advances in Arterial and Venous Surgery" - Review in *Family Practice*, The Cook County Graduate School, Chicago, Illinois. March 1991.
62. Guest Lecturer. "Small Abdominal Aortic Aneurysm - When to Operate?" Cleveland Vascular Society, Cleveland, Ohio. April 1991.
63. Presidential Address: "Initiation of The Vascular Fellow: A Major Challenge for Vascular Surgery Educators". Midwestern Vascular Surgical Society, Chicago, Illinois. September 1991.
64. Anastomotic Workshop. Sponsored by Impra, Inc. April 8, 1992.
65. "Outcome of Femoral Popliteal Bypass, Ramathibodi Hospital, Mahidol University, Bangkok, Thailand. April 9, 1992. Sponsored by Impra, Inc.
66. "Outcome of Femoral Popliteal Bypass. Siriraj Hospital. Mahidol University, Bangkok, Thailand. April 10, 1992. Sponsored by Impra, Inc.
67. "Outcome of Femoral Popliteal Bypass. National University Hospital, Singapore. April 15, 1992. Sponsored by Impra, Inc.
68. "The Case for Preferential Use of Reverse Saphenous Vein for Above Knee Femoropopliteal Bypass in Patients with Good Runoff". Association of VA Surgeons. Albuquerque New Mexico, May 1992. (Presented by Gregory C. Zenni, MD)
69. Johnson WC, Lee KK, Bartle E, Corson JD, Curl GR, Fowl R, Gutierrez IZ, Johnson G, LITTOOY FN, et al: Comparative Evaluation of PTFE, HUV, and Saphenous Vein Bypasses in Fem-Pop Arterial Vascular Reconstruction. VA Cooperative Study Group #141. Presented at the 40th Annual Meeting of The International Society for Cardiovascular Surgery, North American Chapter. Chicago Illinois. June 1992.
70. Wilson SE, Mayberg MR, Yatsu F, LITTOOY FN, and Weiss DG: Crescendo TIA's: A Surgical Imperative. VA Cooperative Study 309 Trialists. Presented at the 40th Annual Meeting of The International Society for Cardiovascular Surgery, North American Chapter. Chicago Illinois. June 1992.
71. LITTOOY FN: Peripheral Neurovascular Syndromes. Speciality Review in *Surgical Critical Care*. The National Center for Advanced Medical Education. Chicago Illinois. July 1992.
72. LITTOOY FN: Nonthoracic Vascular Disease. Speciality Review in *Surgical Critical Care*. The National Center for Advanced Medical Education. Chicago Illinois. July 1992.
73. "Femoral-Tibial Bypass with In Situ Techniques". American College of Surgeons. New Orleans, Louisiana. October 1992.
74. Panel Discussion; Revascularization of the Lower Extremities. American College of Surgeons, New Orleans, Louisiana. October 1992.

August 2, 1993

75. *Panel Discussion; "Changing Patterns in the Care of Lower Limb Ischemia". Mount Carmel Health Peripheral Vascular Disease Symposium. Columbus, Ohio. October 1992.*
76. *Moderator: "Long-term results of thoracic aorta reconstructions". Northwestern University Vascular Surgery Symposium, Chicago, Illinois. December 1992.*
77. *Panel Discussion: "Long-term results of thoracic aorta reconstructions". Northwestern University Vascular Surgery Symposium, Chicago, Illinois. December 1992.*
78. *"What a vascular lab can do for the general surgeon". Annual Clinical Meeting of The Illinois Surgical Society, Inc. Maywood, Illinois. May 1993.*
79. *"Intraoperative duplex scanning and late carotid stenosis". Midwestern Vascular Surgical Society, Chicago, Illinois. September 1993.*
80. *"The small aortic aneurysm with updates on aneurysmal disease". Insights into Peripheral Vascular Disease" Symposium. Columbus, Ohio. October 1993.*

Committees:

Professional Standards Review Committee 1979-1981
Medical School Admissions Committee 1980-1981
Merit Review Committee (Hines VA Hospital) 1980-1985
Surgical Research Committee/Committee on the Core Animal Surgical Unit 1981-
Quality Assurance Steering Committee 1982-
Professional Standards Review Committee (Chairman) 1982-1985
Research and Development Committee (Hines VA Hospital) 1982-1985
Physician Advisor for Medical District #17 (Hines VA Hospital) 1985-
Chicago Surgical Society Membership Committee 1936
QM Surgical Representative, Hines VA Hospital, 1989 -
Operating Room Committee, Hines VA Hospital, 1991 -

National Committee Appointments:

Executive Committee of VA Cooperative Study #362 1991 -
Executive Committee of VA Cooperative Study #141 1984 - 1991
Midwestern Vascular Surgical Society, Local Arrangements
Committee 1983, 1985
Executive Committee of VA Cooperative Study #199 1985 - 1990
Midwestern Vascular Surgical Society, Treasurer 1985 - 1988
Executive Committee of Midwestern Vascular Surgical Society, 1985 - 1988
Executive Committee of the Cardiovascular Institute, 1985 -
Committee of Allied Health, Education, and Accreditation (CAHEA) for
The Society for Vascular Surgery, 1990 -
Executive Committee of Midwestern Vascular Surgical Society, 1990 - 1992

August 2, 1993

Planning Committee for VA Cooperative Study on Treatment of Small Abdominal Aortic Aneurysms, 1990 -

Executive Committee for VA Cooperative Study #379, 1992 -

President-Elect, Midwestern Vascular Surgical Society, 1990

Courzcilor, Midwest Surgical Association, 1990 -

Presidelit, Midwestern Vascular Surgical Sociely, 1991

Visiting Professorships:

1. *Visiting Professor. "Extra Anatomic Bypass: Indications, Selection Criteria, **aid** Results" The University of Illinois College of Mediciiiie. Chicago, Illinois. December 11, 1985.*
2. *Visiting Professor. University of Florida Health Science Center, Jacksonville, Florida. February 22, 1990.*
3. *Visiting Professor. "Acute Arterial Occlusioii". University of Wisconsin School of Mediciiiie, Madison, Wisconsin. November **15**, 1990.*
4. *Visiting Professor. "Management of The Small Abdominal Aortic Aneurysm". University of Kaiisas School of Medicine, Wichita, Kaiisas. May 12-13, 1992.*