

D102CV921651

ELIZABETH ANN DYXES
AND JOE G. DYXES, JR.

* IN THE DISTRICT COURT OF

VS .

* BOWIE COUNTY, T E X A S

COLLOM & CARNEY CLINIC,
JOHN D. FISHER, M.D.
AND ERIC HALL, M.D.

* 102ND JUDICIAL DISTRICT

** *** **
** ** *****
DEPOSITION OF
NEILL LONGLEY, M.D.
October 18, 1993

Doc. 271

INDEX

	Page
APPEARANCES	4
PRELIMINARY PROCEEDINGS	5
NEILL LONGLEY, M. D.	
Examination by MR. BARKER	5

EXHIBITS

Exhibit No.	Marked at	Page
1		5
2		5
3		5

1

2

DEPOSITION OF NEILL LONGLEY, M.D.

3

4 taken on the 18th day of October, 1993 between the
5 hours of 6:45 p.m. and 7:50 p.m., before Janet M.
6 Canton, a Certified Shorthand Reporter and Notary
7 Public in and for the State of Texas, at the offices of
8 Giessel, Stone, Barker & Lyman, 2700 Two Houston
9 Center, Houston, Texas, pursuant to Notice, the Texas
10 Rules of Civil Procedure and the stipulations of
11 counsel.

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 A P P E A R A N C E S :

2

3

4

COUNSEL FOR PLAINTIFFS:

5

Rockne Onstad
Onstad, Kaiser & Fontaine
1360 Post Oak Blvd.
Suite 700
Houston, Texas 77056

6

7

8

COUNSEL FOR DEFENDANTS COLLOM & CARNEY CLINIC
AND ERIC HALL, M.D.:

9

10

John P. Polewski
Stradley & Wright
9330 LBJ Freeway
Suite 1400
Dallas, Texas 75243

11

12

13

COUNSEL FOR DEFENDANT JOHN D. FISHER, M.D.:

14

15

Jim Barker
Giessel, Stone, Barker & Lyman
909 Fannin
Suite 2700
Houston, Texas 77010

16

17

18

19

20

21

22

23

24

25

PRELIMINARY PROCEEDINGS

MR. ONSTAD: It's by agreement.

MR. BARKER: Do you want to read and sign it?

THE WITNESS: No.

MR. ONSTAD: We are going to go to trial so dadgum fast.

No, we'll just -- if I see something that looks out of line, I'll let you know.

(Exhibit Nos. 1, 2 and 3 were marked for identification by the reporter.)

NEILL B. LONGLEY, M.D.,
having been first duly sworn, testified as follows:

EXAMINATION

BY MR. BARKER:

Q. Your name, please?

A. Dr. Neill Longley.

Q. You're a radiologist by profession?

A. Yes, sir.

Q. You practice medicine in Houston, Harris County, Texas?

- 1 A. Yes, sir.
- 2 Q. You have been hired by Mr. Onstad to assist him
3 in the Dykes case?
- 4 A. Yes, sir.
- 5 Q. All right, sir. Your curriculum vitae has been
6 marked as exhibit what?
- 7 A. One.
- 8 Q. Is that a current curriculum vitae, Doctor?
- 9 A. Yes, sir.
- 10 Q. All right, sir. Would you be kind enough to
11 hand it to me for just one second while I glance
12 at it?
- 13 . You are not an oncologist?
- 14 A. No, sir.
- 15 Q. You don't specialize in the diagnosis or
16 treatment of cancerous conditions?
- 17 A. Yes, I do. As far as the radiographic or
18 mammographic cancer -- evaluation of cancer.
19 This is our primary duty. We don't treat as an
20 oncologist does, however, make diagnoses of
21 cancer by x-ray.
- 22 Q. All right, sir. When were you first retained by
23 Mr. Onstad to assist him in the case?
- 24 A. I think within two or three days of this report
25 that I have given. Mr. Onstad came to my office

1 and brought these films to me to read holding
2 them in his hand because he didn't want to lose
3 them. So he brought those to me and I reviewed
4 those and dictated a report while we were there.
5 And I think this was February the **17th** of 1992
6 that we did this.

7 Q. You've rendered only one report in the case.
8 The report is dated February 17, 1992?

9 A. Yes, sir.

10 Q. And it's marked as exhibit what?

11 A. It's Exhibit No. 17.

12 Q. You were retained by Mr. Onstad on or about
13 February 17 of 1992?

14 A. Yes, sir.

15 Q. Now, since being retained by Mr. Onstad, what
16 have you done other than to meet with him on
17 February **17th** and review certain mammographic
18 slides and then write this report?

19 A. I've had a couple of telephone consultations
20 with Mr. Onstad.

21 Q. Have you done anything else?

22 A. No, sir. Nothing written; haven't written
23 anything additional except I found some
24 additional medical information concerning
25 clarification of mammographic reports.

- 1 Q. Let me make sure that we're communicating. You
2 met with him on or about February 17, 1992 for
3 the first time?
4 A. Yes, sir.
5 Q. On that occasion you took your assignments, so
6 to speak, from him in this case.
7 A. Yes, sir.
8 Q. That is, listened to what he wanted you to do?
9 A. Yes, sir.
10 Q. What he wanted you to do is to read these films,
11 give your opinion concerning them, and you did
12 that by rendering your report of February 17 of
13 1992?
14 A. Yes, sir.
15 Q. Since that time you've done some other things?
16 A. Yes, sir.
17 Q. That is, you've engaged in a couple of telephone
18 conferences with Mr. Onstad. Correct?
19 A. Correct.
20 Q. And then you have looked for certain
21 documentation which you brought with you today.
22 Is that true?
23 A. Yes, sir.
24 Q. Can you give me the date or approximate date of
25 the first phone conference you had with him

1 after writing your report?
2 A. I don't think I can be that specific. I think
3 it was about three months ago that he indicated
4 to me that certain particulars of the case
5 revolved around the clarity of the reports and
6 wanted to know if I had any information which
7 reflected on the proper method of writing a
8 clear x-ray report on a mammogram.
9 Q. So that was the essence of his request of you in
10 the first phone conference following your having
11 written your report?
12 A. Yes, sir.
13 Q. Did anything else take place in that phone
14 conference that you recall?
15 A. No, sir.
16 Q. The second phone conference following your
17 report was approximately when?
18 A. That was about a week later. And I called him
19 to tell him that I had found certain articles
20 which reflected the information he was
21 interested in.
22 Q. All right, sir. The articles that you mentioned
23 in the first phone conference with him?
24 A. Yes, sir.
25 Q. All right, sir. Did you discuss anything else

1 in your second phone conference other than
2 you're pointing out that you had found certain
3 articles?
4 A. No, sir.
5 Q. Have you done anything else on the case since
6 that second phone conference following having
7 written your report?
8 A. No, sir.
9 Q. Actually you have. I barely take issue with you
10 and I'm sure that you would agree with me. What
11 you have done is you met very briefly with him
12 this evening before coming into this conference
13 room to begin your deposition. Is that correct?
14 A. Yes, sir. I didn't know that you wanted --
15 Q. That's quite all right. No problem at all.
16 Now in that meeting with him, that is the
17 meeting that you had with him that ended just a
18 few minutes ago, tell me what he said to you.
19 A. He said that -- I don't remember exactly. He
20 said I want you to go over things, kind of get
21 them correlated. **And** then he said, I don't know
22 which direction they're going to want to work
23 from, but I think you have all the information
24 you need to work with.
25 Q. That's all he said to you?

- 1 A. Essentially.
2 Q. Essentially?
3 A. That's essentially what he said. I don't
4 remember what he said word for word.
5 Q. All right, sir. Did he say anything to you
6 about what testimony Dr. Lane delivered this
7 afternoon?
8 A. No, sir.
9 Q. All right, sir. Did you bring all of the
10 reports with you today that you have found as a
11 result of his request made of you in those phone
12 conferences?
13 A. Yes, sir.
14 Q. All right, sir. And they are marked as exhibits,
15 what?
16 A. Exhibit No. 2 and 3.
17 Q. All right, sir. What are you charging for your
18 work in this case?
19 A. \$350 an hour.
20 Q. All right, sir. Does that include travel time?
21 A. No.
22 Q. You do that for free?
23 A. Yes, sir.
24 Q. All right, sir. What if you traveled to give
25 testimony at the trial of this case?

- 1 A. I would have to charge for the time away from
2 work, and essentially, if I spend **a** day away
3 from work, I'd have to charge an eight-hour day.
4 I probably would have to also -- if I'm flying,
5 you know, flight time's only an hour or **so**, but
6 I think probably an hour or **two** for flight time
7 I would have to charge.
- 8 Q. Your travel time would be billed at 350 an hour?
- 9 A. Yes, sir.
- 10 Q. All right, sir. If you were to testify in the
11 trial of the case, that is in person in front of
12 a judge and jury, what would your hourly rate
13 be?
- 14 A. \$350 an hour. Makes it simple; keep it the
15 same.
- 16 Q. So your hourly rate is \$350 on this case
17 regardless of the service that you render. **Is**
18 that true?
- 19 A. Yes, sir.
- 20 Q. This is not the first time you have given an
21 oral deposition?
- 22 A. No, sir.
- 23 Q. You've probably done it hundreds of times
24 before.
- 25 A. Not hundreds of times, but --

1 Q. A hundred times?
2 A. No. I doubt it. Probably 30, **40** times over the
3 years.
4 Q. All right, sir. In addition to that, have you
5 testified in a **court** of law?
6 A. Yes, sir.
7 Q. Probably an equal amount of times?
8 A. No.
9 Q. About how many times?
10 A. I would say probably one -- one period didn't go
11 to court for a couple of years and then -- then
12 it would average out once or twice a year after
13 that.
14 Q. If you added them all up, what would your best'
15 approximation be of the number of times that you
16 have testified in a court of law?
17 A. I **guess** it would -- since I've been in practice
18 for 30 years, I'd say I average at least one
19 time a year. So probably 30 times at least.
20 Q. All right, sir.
21 A. Maybe more. I don't really know.
22 Q. All right, sir. I accept your answer as being
23 an approximation.
24 Have you testified both in the State of
25 Texas as well as in other states?

- 1 A. Yes, sir.
2 Q. Can you approximate the number of states you've
3 testified in either in giving deposition or
4 testifying in court?
5 A. I've only testified one time outside the State
6 of Texas.
7 Q. All right, sir.
8 A. That was in Florida.
9 Q. Fine. They have nice weather down there as
10 well.
11 A. Yes, sir.
12 Q. Can you approximate the number of counties in
13 the State of Texas you have testified in?
14 A. Probably six; five or six. One in Centerville,
15 and I did one up north of Dallas once. The rest
16 of them have been either Harris or Galveston
17 Counties.
18 Q. All right, sir. Where was the medicine
19 practiced as you understand the facts of this
20 case, in what county?
21 A. Oh, let me see. Texarkana. I don't know what
22 the county is.
23 Q. Have you ever practiced medicine in that county?
24 A. No, sir.
25 Q. Do you know on a close personal and close

1 professional basis any practicing physicians in
2 that county?
3 A. No, sir.
4 Q. All right, sir. Have you ever?
5 A. No, **sir**.
6 Q. Have you ever done a survey as to the standard
7 of care that's rendered typically by physicians,
8 radiologists or others in that county?
9 A. Only what board certified radiologists would be
10 required to have as a standard over the United
11 States, a national standard.
12 Q. Well my question is --
13 A. But not specifically in that county, no, sir.
14 Q. You understand that my question was really a bit
15 different than your answer, don't you?
16 A. Yes.
17 Q. All right, sir. My question is: Have you ever
18 attempted to determine what the standard of care
19 and how medicine is typically practiced by
20 radiologists in that county? Have you done any
21 such study?
22 A. No, sir.
23 Q. Is this the first time that Mr. Onstad has ever
24 retained you to assist him in representation of
25 a client in a case?

1 A. I can't remember. I -- specifically he himself, 16
2 I think this is either the first or the second
3 time he's ever --
4 MR. ONSTAD: I can't remember
5 whether you gave me a report in the Props
6 case or not, Lucy Props.
7 THE WITNESS: What's that?
8 MR. ONSTAD: The Lucy Props case,
9 another case up in Texarkana. I think it
10 settled --
11 THE WITNESS: I don't remember that
12 one specifically.
13 A. But I have reviewed cases before that were in
14 Texarkana. I thought -- did I go up there and
15 go to Texarkana and do I have -- have I met the
16 doctors up there? I haven't. But I think that
17 I probably have reviewed two or three cases for
18 Mr. Onstad, but I've never gone to a deposition
19 before with him that I can remember.
20 Q. (By Mr. Barker) When I asked you whether you
21 had done -- perhaps I don't even recall the
22 wording of my question. Let me revisit the
23 subject with you.
24 A. Yes.
25 Q. Is this the first time you have ever been

1 retained by Mr. Onstad to in any way assist him
2 on a lawsuit?
3 A. No, sir.
4 Q. So you have assisted him on other lawsuits in
5 the past?
6 A. Yes, *sir*.
7 Q. Is that a true statement?
8 A. Yes, sir.
9 Q. All right, sir. Can you remember what those
10 cases were or what they were about?
11 A. No, sir. There weren't more than one or two
12 others. I can't remember specifically.
13 Q. All right, sir. When you were visiting with Mr.
14 Onstad on February 17th of 1992, did you ask him
15 what sorts of things he wanted you to address in
16 your report?
17 A. No, sir. He just told me to review the
18 information that he had, which was a mammogram
19 report and the mammograms, and to write my
20 interpretation of what the mammograms were, what
21 was on the mammograms and to make an evaluation
22 of the correlation between the reports and the
23 actuality of the films.
24 Q. At the time you wrote your report of February
25 17th of 1992, had you reviewed anything other

1 than the mammograms and the mammogram reports?
2 A. No, sir.
3 Q. All right, sir. Have you reviewed any other
4 documents since writing that report up until
5 today's date, other than those same things and
6 Exhibits 2 and 3 to your deposition?
7 A. No, sir.
8 Q. Did you have Exhibits 2 and 3 that are attached
9 to your deposition in your office or did you
10 have to go outside your office to get them?
11 A. We had those available in our office.
12 Q. In talking, some people have the tendency to use
13 the royal "we" meaning people other than --
14 A. Relsey-Seybold Clinic had this information.
15 Q. I want to talk about you.
16 A. I had it in my office. Yes, sir.
17 Q. You've had both Exhibits 2 and 3 in your office
18 for some time?
19 A. Yes, sir.
20 Q. All right, sir. One of these, if not both of
21 these, were reports I saw that you were reading
22 here before your deposition began. Which was
23 it?
24 A. This one here, Writing the Mammogram Report.
25 Q. That's Exhibit 3?

- 1 A. Yes, sir.
- 2 Q. Why were you finding it necessary to read it
3 before *your* deposition?
- 4 A. I wanted to specifically relate this to **the**
5 statements that I had made in my report.
- 6 Q. All right, sir. Is there anything in Exhibit 3
7 that you think relates specifically to the
8 **statements** in your report that's marked as
9 Plaintiff's Exhibit 17?
- 10 A. Yes, sir.
- 11 Q. All right, sir. What specifically do you think
12 relates to the statements?
- 13 A. The statements have to do with the report which
14 stated -- indicated densities in both breasts .
15 which were thought to partly be -- this is
16 paraphrasing -- which were thought to be partly
17 due to fibrocystic disease and mammary
18 dysplasia. And the report did not state -- the
19 report did state a mass lesion cannot be
20 definitely excluded from either breast and a
21 recommendation for follow-up was not made.
22 Now --
- 23 Q. Pardon me. You were just paraphrasing Dr.
24 Fisher's report?
- 25 A. Yes, sir.

1 Q. All right. Okay. Go ahead please,
2 A. I think that's accurately -- pretty accurate
3 what he said. But in this article by Dr.
4 Stephen Feig, who is one of the foremost
5 mammographers in the country, he states in this
6 article, the use of fibrocystic disease should
7 be avoided since it will not only cause
8 unnecessary anxiety to the patient, but could
9 place the continuation of her health insurance
10 in jeopardy or raise her insurance premiums
11 since some insurance companies consider
12 fibrocystic disease as a precancerous or high
13 risk condition. For these reasons, use of the
14 diagnostic term fibrocystic disease is
15 discouraged.
16 Q. All right, sir. I'm sorry. Please go ahead.
17 A. Okay. Another statement that I thought -- since
18 he used these things in his report --
19 Q. Who used what things?
20 A. Since Dr. --
21 Q. Fisher?
22 A. Yes. Dr. Fisher used in his report, he stated
23 that there were increase in densities in both
24 breasts thought to be partly due to fibrocystic
25 disease and mammary dysplasia.

1 Q. Uh-huh.
2 A. Then in this article it states dysplasia is one
3 such ambiguous term which should be avoided
4 since it may have different meanings to
5 different people; radiologists may consider
6 dysplasia to be a generalized term including
7 fibrocystic conditions such as adenosis,
8 fibrosis, papillomatosis, cysts or fibroadenoma.
9 Yet to a gynecologist, dysplasia of the breast
10 may imply a preneoplastic condition analogous to
11 dysplasia of the uterine cervix. Similarly a
12 misnomer such as fibrocystic disease should not
13 be used. Being prevalent to varying degrees in
14 most women it is not a true disease. The term
15 fibrocystic condition or fibrocystic involvement
16 should be used.
17 Q. Have you ever used the term fibrocystic disease
18 in a report in your life?
19 A. I stupidly used those before --
20 Q. The answer to my question is yes?
21 A. Yes.
22 Q. What is the other term that's referenced in
23 Exhibit 3 that you say is in Dr. Fisher's report
24 that ought not to have been used by Dr. Fisher
25 in his report?

1 A. Dysplasia,
2 Q. All right, sir. Have you ever used the phrase
3 mammary dysplasia in any report you have ever
4 written?
5 A. No, sir.
6 Q. Why?
7 A. Because I don't think that you can make that
8 diagnosis from an x-ray.
9 Q. All right, sir. Well, he's not making the
10 diagnosis of mammary dysplasia, is he?
11 A. Yes, sir.
12 Q. Oh, he is?
13 A. In his report he is stating --
14 Q. Making a diagnosis of mammary dysplasia?
15 A. Stating that there is evidence of mammary
16 dysplasia.
17 Q. I see. To you that's a diagnosis?
18 A. Well, that's his interpretation of it.
19 Q. Is that a diagnosis?
20 A. He did not put that as a diagnosis.
21 Q. Oh, okay. Is there anything else in Exhibit 3
22 that you think is relevant to this discussion of
23 Dr. Fisher's report which is marked as
24 Plaintiff's Exhibit 17?
25 A. Yes, sir.

1 Q. All right, sir. Please go ahead.
2 A. The report did not make a recommendation for
3 follow-up and he did say a mass lesion cannot be
4 definitely excluded from either breasts.
5 Q. All right, sir.
6 A. So here in the second paragraph -- the last
7 paragraph or the second page of this report --
8 writing of the mammographic report, the phrase
9 malignancy cannot be excluded, should not be
10 haphazardly applied to every lesion which has
11 not been unequivocally benign. Although
12 anything can be anything on mammography, it is
13 unlikely that a well-circumscribed, half
14 centimeter mass in a 35 year-old female is
15 malignant. Thus the report should assess
16 possibilities rather than express uninformative
17 warnings, which I considered was made here,
18 uninformative warning also recommend --
19 Q. Do you think Dr. Fisher has addressed
20 possibilities in his report?
21 A. Yes, sir.
22 Q. All right, sir. Go ahead, if you would.
23 A. Let me see. Once mammographic studies are
24 completed, a final report should be judicious.
25 Rather than applying the phrase malignancy

1 cannot be excluded to every lesion which is not
2 unequivocally benign, the radiologist should
3 provide appropriate advice, such as mammographic
4 follow-up, ultrasound, aspiration or biopsy
5 based on the degree of suspicion of the
6 individual lesion.

7 Q. Okay.

8 A. Those are the faults that I find.

9 Q. All right, sir. How long have you had Exhibit 3
10 or the original of it around your office?

11 A. We've had it --

12 Q. No, you. I'm asking about you.

13 A. I've had it for, I think, about a year, year and
14 a half.

15 Q. Okay. How did you get it?

16 A. This was given to us as part of a continuing
17 study program by the American Cancer Society.

18 Q. All right, sir. So did you know of this
19 report's existence before it was given to you?

20 A. No, sir.

21 Q. Okay. Did you have a similar sort of guideline,
22 if you will, for reporting mammographic
23 interpretation?

24 A. Yes, sir.

25 Q. Before you used Exhibit 3?

1 A. Yes, sir.
2 Q. All right, sir. And was that Exhibit 2?
3 A. Yes, sir.
4 Q. In other words, for your purposes, exhibit 2 was
5 the predecessor to Exhibit 3 in your office?
6 A. Yes, sir.
7 Q. Now, do you use Exhibit 3 as a guideline rather
8 than Exhibit 2?
9 A. Well, we use -- we use in practice Exhibit No.
10 2 --
11 Q. Uh-huh.
12 A. -- with Exhibit No. 3 to more polish the reports
13 that we make. But this system here, Simple
14 Classification System for Mammographic
15 Reporting, is what we actually use -- generic
16 "we" is the Kelsey-Seybold Radiology Department.
17 Q. Let me ask a favor of you, if I might, and it
18 will simply, at least in my judgment, make an
19 understanding of your testimony a bit simpler.
20 If you intend to mean yourself, please say me or
21 I.
22 A. Yes, sir.
23 Q. If you intend on meaning other folks, of course
24 use the "we." But if you would, kindly try to
25 keep those separate so I'll know who you're

1 talking about and I'd appreciate that.
2 A. Okay.
3 Q. In any event, I understand what you're telling
4 me and please correct me if I'm wrong: You
5 continue to use Exhibit 2, you have begun to use
6 Exhibit 3 about a year ago when its existence
7 first became known to you when it was given out
8 at a continuing medical education type seminar?
9 A. I and my colleagues at Kelsey-Seybold Clinic had
10 used the precepts detailed in Exhibit No. 3 for
11 at least five years. I used it before I came to
12 Kelsey-Seybold in 1990. So this was not -- this
13 did not affect my method of reporting because I
14 never used the term dysplasia; and I very
15 closely limited my use of fibrocystic disease
16 because I think that that is a pathologic
17 diagnosis rather than a radiologic diagnosis,
18 Q. All right, sir, Apparently whomever wrote
19 Exhibit 3 felt it would be useful because a
20 number of radiologists all over the country were
21 doing the sort of things that the author of
22 Exhibit 3 thought they ought not to do, Would
23 you agree with that statement?
24 A. Well, that's presumptive on anyone's part. I
25 mean --

- 1 Q. Surely it is.
2 A. They hope --
3 Q. Would you agree with the statement?
4 A. Well, I don't know whether I can agree with what
5 he was thinking -- Dr. Feig. I'm sure he's a
6 teacher, though, and he would -- I'm sure his
7 attempt is to try to standardize the language of
8 radiologic reports so that they can always be
9 understandable. I don't know what his aim was
10 when he wrote it.
11 Q. Do you think that a radiologist can practice
12 good medicine and be a good radiologist if they
13 from time to time run afoul of, if you will, the
14 suggestions made by Dr. Feig as set forth in .
15 Exhibit 3?
16 A. Dr. Feig?
17 Q. Feig.
18 A. Yes.
19 Q. Okay, You have bracketed some language in
20 Exhibit 3.
21 A. Yes.
22 Q. Did you do that with an eye toward your work on
23 this case?..
24 A. Yes, sir.
25 Q. Okay. I understand.

1 Now, is there anything there, Exhibit 2,
2 that you believe was not followed, if you will,
3 by Dr. Fisher in preparing his July of '89
4 report? If so, tell us.
5 A. This is much the same thing in that they're
6 saying this was written in 1987. But their
7 precept in this --
8 Q. Excuse me. Let me bring you back to my
9 question.
10 A. Okay.
11 Q. Is there anything in Exhibit 2 that you're
12 holding in *your* hand that you believe Dr. Fisher
13 ran afoul of in rendering his report of July of
14 '89? If so, tell us.
15 A. Well, in this article it also states equivalent
16 statements like "cannot rule out malignancy" or
17 "considered biopsy in the right clinical
18 situation" places the physician in a difficult
19 position of deciding whether referral for
20 surgery is indicated, This is just one of the
21 statements, but --
22 Q. Well, a radiologist doesn't make a decision
23 about whether somebody ought to have surgery, do
24 they?
25 A. Well, yes, it --

1 patient management. Under such circumstances
2 clinical signs, symptoms and history may be
3 absent or irrelevant. Biopsy decisions will be
4 based on the mammographic findings alone.
5 Q. (By Mr. Barker) Have you done anything else on
6 the case -- I'm sorry. Strike that, please.
7 Doctor, has there been anything else that
8 you found in Exhibit 2 that you believe Dr.
9 Fisher ran afoul of?
10 A. In Exhibit 2?
11 Q. Yes. I want you to finish that.
12 A. In that he didn't do anything according to
13 Exhibit 2, but I wouldn't expect him to because
14 this is not a standard usage, People don't use
15 this classification as a standard over the
16 country. This is just an example of what clear
17 radiologic reporting should be for mammography,
18 we think. That is the generic "we",
19 Kelsey-Seybold Clinic Radiology Department.
20 Q. How many times, say in a week's period of time,
21 Doctor, do you find it that a treating physician
22 will come to you to visit with you about a
23 radiologic report that you rendered him or her?
24 A. Oh, many times, Especially for mammography, I
25 usually have at least two to three consultations

1 Q. : They do?

2 A. A radiologist, by his actions -- and you can
3 find here -- I can find in here where it states
4 that the radiologist controls whether a person
5 is sent for surgical consultation by his
6 statements.

7 Q. Oh, surgical consultation. But that's not
8 making a decision for surgery, is it?

9 A. No. We don't make the decision for the surgery.
10 However, we determine by *our* reports in many
11 instances whether a person is sent to a surgeon
12 or there is further study made, or if the
13 patient is just followed on a routine basis.

14 MR. BARKER: Let's go off the
15 record a second.

16

17 (Discussion off the record)

18

19 A. If I might refer back to -- there's a statement
20 in here: Recent interest in mammographic
21 reporting can be attributed to several factors.
22 First, increased use of screening mammography
23 leading to the recognition of nonpalpable
24 abnormalities means that the radiologist rather
25 than the clinician will initiate the course of

1 week, indeed perhaps multiple times per day?
2 A. Yes, sir-
3 Q. All right. Now, on those occasions, is it
4 typical for the doctor to say to you, I'd like
5 to get a little bit more information from you as
6 to what you're talking about here in this
7 written report? Clarification, if you will.
8 A. They don't ordinarily do that. They ask me, say
9 row then, what would you do? What should I do?
10 Ultrasound? Should I do --
11 Q. You've already told me --
12 A. They don't usually ask me because our -- when I
13 give out our report, it is clear to them what
14 I'm saying,
15 Q. Have you ever had a doctor come to you and say,
16 I'm not sure I understand what you mean here. I
17 need clarification- Has that ever happened?
18 A. Yes- In the past-
19 Q. Sure.
20 When's the last time it's happened that
21 you can recall?
22 A. Most of the time was when I was in Galveston.
23 Q. When's the last time?
24 A. Probably not since 1950 -- I mean -- excuse me,
25 1990.

1 a day usually with surgeons to have them --
2 they'll come to me to help get the fine tuning
3 on a diagnosis, because I might give them a
4 Class 3 which indicates suspicion.
5 Q. Let me just ask you to stay with my question.
6 A. I'm sorry.
7 Q. Believe it or not, I kind of know where I'm
8 going, You have given me a number.
9 MR. ONSTAD: I've been quiet, but
10 you are also running over him. You're
11 cutting him off a lot. Let him -- and I
12 know we all want to get out of here.
13 MR. BARKER: Trying to save time.
14 MR. ONSTAD: But I don't want the
15 record to appear -- I'm being mostly fair.
16 Let's go.
17 A. Can you go back to your question so I can be
18 sure I've got it right?
19 Q. (By Mr. Barker) Absolutely.
20 What I'm trying to find out, and I believe
21 you gave me an estimate, as to the approximate
22 number of times during any given week a
23 physician to whom you have rendered a report
24 comes to you to talk to you about that report,
25 And I believe you told me it's multiple times a

- 1 Q. All right, sir. What doctor do you last recall
2 coming down to you and saying, look, Dr.
3 Longley, I'm not quite sure what you mean here
4 in this report. Can you clarify it a little bit
5 for me?
- 6 A. When you're not -- you're not talking about
7 mammogram reports specifically --
- 8 Q. Any kind of information.
- 9 A. -- we have this all the time. I mean, I thought
10 you meant mammogram reports. No, we have those
11 frequently. Maybe once or twice a week there's
12 a report that doesn't have a word in it that
13 they understand, or they have a precept that's
14 presented to them they don't understand, or
15 there may be a typographical error in the
16 report. So --
- 17 Q. Is there an absolute standard that is recognized
18 by all radiologists in the reporting of
19 mammograms nationwide?
- 20 A. Only that the diagnosis should be clear,
21 succinct and accurate.
- 22 Q. All right, sir. Are the words used to convey
23 those ideas, however, necessarily standard?
- 24 A. No, sir.
- 25 Q. Okay. They ought to be clear and succinct?

1 A. Yes, sir.
2 Q. All right, sir. Is that pretty much the bottom
3 line when you render your reports and your
4 opinions in your reports?
5 A. Yes, sir.
6 Q. Okay.
7 A. And hopefully accurate.
8 Q. Indeed. I understand exactly what you mean. I
9 believe I do.
10 Let me hand some of these exhibits to you,
11 if I might, please. I'll hand them all to you,
12 and you can choose those that you feel
13 appropriate to respond to my questions.
14 Are these the mammographic reports that
15 you reviewed in order to write your report of
16 February 17 of '92?
17 A. These aren't reports, these are --
18 Q. I meant the mammograms themselves.
19 A. Yes, sir.
20 MR. ONSTAD: For further
21 identification, they're Plaintiff's
22 Exhibits 1 through 8.
23 MR. BARKER: Yes.
24 MR. ONSTAD: And of course, just for
25 the record, we may have said this off the

1 report.
2 A. That's these here.
3 Q. And what exhibit numbers are those, please?
4 A. Those are Exhibits P1, P2, P3 and P4.
5 Q. All right, sir. In looking at those exhibits
6 that are before you, do you see what you
7 consider to be moderate fibrocystic changes?
8 A. No, sir.
9 Q. You see none?
10 A. No, sir.
11 Q. You see no fibrocystic changes at all?
12 A. As I told you before, that's not a radiographic
13 diagnosis.
14 Q. Do you understand what the term fibrocystic
15 change means?
16 A. Yes, sir.
17 Q. All right, sir.
18 A. I know what some people refer to as dense
19 breasts and with cysts, which this patient does
20 not exhibit and --
21 Q. She doesn't have dense breasts?
22 A. -- it would be a misnomer if she did have it.
23 Q. You don't think she has dense breasts?
24 A. No, sir.
25 Q. You don't think she has any cysts?

1 record, the little red marks that are on
2 those Plaintiff's Exhibits 1 through 8,
3 they weren't on there when you looked at
4 them, were they?
5 THE WITNESS: No. And I didn't put
6 them on there.
7 MR. ONSTAD: I understand.
8 MR. BARKER: I understand. That was
9 my understanding, in any event-
10 MR. ONSTAD: We've got Dr. Fisher
11 doing it on videotape.
12 A. Okay. But these are the mammograms, the actual
13 xerographic studies. And I believe these are
14 original records -- yes, these are original
15 records of Collom & Carney Clinic.
16 Q. (By Mr. Barker) All right. If *you* would,
17 please take those that are the subject of Dr.
18 Fisher's July 25, '89 report, as you understand
19 it -- just set the others aside, if you would-
20 A. Yes, sir.
21 Q. All right, sir. Set the others aside if you
22 would, please.
23 A. All of these were utilized during his report.
24 Q. I'm asking you to put in front of you those that
25 you believe were the basis of his July 25, 1989

1 no evidence of dysplasia, which is also not a
2 radiographic diagnosis.
3 Q. Do you see any patchy densities?
4 A. Yes, sir.
5 Q. All right, sir. Do you see them in both
6 breasts?
7 A. Yes, sir -- no. Only in the right breast.
8 Q. You see none in the left breast?
9 A. No, *sir*. I see only -- I see only normal breast
10 stroma in the left breast and -- but I see
11 suspicious nodules in the right breast.
12 MR. BARKER: Unresponsive.
13 Q. {By Mr. Barker) Do you see any mass lesions?
14 A. Yes, sir.
15 Q. How many?
16 A. I see at least one and probably two more.
17 Q. Exactly where, in which breast and what exhibit?
18 A. Okay. In Exhibit No. P1, slightly to the
19 outside or lateral side of the nipple line and
20 near the chest wall with streamers extending
21 toward the nipple, I see a stellate lesion which
22 is approximately one centimeter in diameter.
23 And on the side view or the axillary view, I see
24 another mass lesion which corresponds exactly --
25 that's Exhibit No. P2, which corresponds exactly

- 1 A. No, sir.
2 Q. You don't think she has any fibrocystic changes?
3 A. No, sir.
4 Q. Do you think she has any mammary dysplasia?
5 A. As I said before, mammary dysplasia is also a
6 misnomer and that's always a pathologic
7 diagnosis. I do not think she has any mammary
8 dysplasia because that's a pathologic diagnosis.
9 Q. Well, what is mammary dysplasia?
10 A. Mammary dysplasia is a change in the ducts in
11 which there is a thickening of the tissue inside
12 of the duct and which may also have metaplasia.
13 But that's a pathologic diagnosis,
14 Q. Regardless of what it is, you say she doesn't
15 have any mammary dysplasia?
16 A. No, sir.
17 Q. All right, sir. And you say she has absolutely
18 no fibrocystic changes either?
19 MR. ONSTAD: You're talking about as
20 evidenced by those mammograms?
21 MR. BARKER: Yes.
22 A. No, she has no evidence of fibrocystic disease
23 which --
24 Q. (By Mr. Barker) I didn't ask about that.
25 A. -- is not a radiographic diagnosis and she had

- 1 means indeterminate lesion found, suggestive of
2 possible malignancy.
- 3 Q. Are all of the densities the same?
- 4 A. No, sir.
- 5 Q. Are the densities of similar size however?
- 6 A. No. The primary lesion is larger than the other
7 two.
- 8 Q. Well, what size is what you define as the
9 primary lesion?
- 10 A. Primary lesion is approximately one centimeter
11 in diameter with streamers that go toward the
12 nipple, which are two to three centimeters in
13 length.
- 14 Q. What is the size of the next --
- 15 A. I would say --
- 16 Q. -- smaller?
- 17 A. -- there's another one that's approximately a
18 half a centimeter, and another one that's
19 approximately a fourth of a centimeter.
- 20 Q. Are they approximately the same in appearance?
- 21 A. Yes, sir. They each are stellate in appearance
22 which is characteristic of infiltration.
- 23 Q. Do you see any clustered calcifications?
- 24 A. No, sir.
- 25 Q. Do you see any nipple retraction?

1 in size and contour to the mass lesion seen in
2 the Exhibit P1.
3 Q. Is it in exactly the same location in the
4 breast?
5 A. Well, you -- you use these to triangulate. So
6 it -- it's the same distance from the chest
7 wall.
8 Q. My question is: Is it in exactly the same
9 location in the breast?
10 A. It's -- In my opinion, it is.
11 Q. Is it the same lesion?
12 A. Yes, sir.
13 Q. All right, sir. Do you see any others?
14 A. I see two other nodules which I see only in the
15 side view. And these are stellate in appearance
16 which makes them suspicious.
17 Q. Are they cancerous?
18 A. I would say probably, yes, sir.
19 Q. Do you know for sure?
20 A. Well, one never knows until they're taken out.
21 Q. All right, sir. You would not say they're
22 definitely cancerous; you're saying that you
23 think they .probably are?
24 A. Yes, sir. And if I use my classification, I
25 would make them what we call a Class 3 which

1 A. No. There's --
2 Q. Do you seen any skin retraction?
3 A. No, sir.
4 Q. Do you see any skin thickening?
5 A. No, sir.
6 Q. Do you see asymmetry between the two breasts?
7 A. No, sir, except for the nodulation.
8 Q. Do radiologists looking at mammograms such as
9 those make a diagnosis of cancer or simply
10 report their findings to the treating physician
11 who upon appropriate report and other findings
12 would make the diagnosis?
13 A. Well, we don't come right out and put the word
14 cancer on it. We put a classification on it.
15 That is the generic "we" at Kelsey-Seybold
16 Radiology. But if I give a diagnosis of Class
17 1, it means normal. If I say a Class 2, there
18 are masses or -- mass or masses present which
19 are probably benign. If I say Class 3, it's an
20 indeterminate lesion found suggestive of
21 possible malignancy. And if I say Class 4, it's
22 probable malignancy.
23 Q. Are these Class 3s, did you say?
24 A. Yes, sir.
25 Q. All right. How old was Ms. Dykes at the time

1 those mammograms were made?
2 A. Age 41.
3 Q. Was she menopausal, or do you know?
4 A. I don't -- I don't know.
5 Q. All right, sir. Are you accepting of the
6 phrase, the three-part approach to detecting
7 cancer; that is, breast self-examination,
8 physician examination and mammograms?
9 A. Yes, sir.
10 Q. All right, sir. What *are* the sorts of things in
11 mammographic studies such as you see in front of
12 you that can obscure breast cancers?
13 A. Scars.
14 Q. What else?
15 A. The major thing is just not having the lesion
16 within the view of the x-ray beam.
17 Q. Can you think of anything else?
18 A. You can have bad screens which can obscure
19 lesions,
20 Q. What else?
21 A. Inadequate penetration of the films.
22 Q. What else? Can you think of anything else?
23 A. I can't come up with anything, I've probably
24 got four or five more, but I can't think of them
25 right off the top of my head.

- 1 Q. I'll give you four hours, one for each.
2 A. All right. Let me see.
3 Okay. One thing that could obscure a
4 lesion or make you, you know, confused with it,
5 would be molds on the skin.
6 Q. What else?
7 A. Previous radiation therapy.
8 Q. What else?
9 A. Previous surgery.
10 Q. What else?
11 A. And that's about all I can come up with right
12 now.
13 Q. Am I giving you all the time you need to tell
14 me?
15 A. I believe so.
16 Q. All right, sir. And you can't think of anything
17 else?
18 A. Not right now.
19 Q. I don't mean to beat a dead horse, so to speak,
20 but when you say not right now, I don't want
21 somebody to interpret by listening to this
22 deposition that I'm cutting you short and
23 running you off. Am I giving you full
24 opportunity to answer that question --
25 A. Yes.

- 1 Q. -- with everything you can think of?
2 A. To bring up the dredges of my memory, but I
3 would reserve the right to think of something
4 later on.
5 Q. I understand that. And I appreciate that you
6 have that right to think of something later.
7 Do you accept the proposition and agree
8 with the proposition that cancers in the breast
9 are easier to find retrospectively after a
10 second round of mammographic studies?
11 A. Yes, sir.
12 Q. why is that?
13 A. Well, you have the previous one to compare.
14 Q. Can you amplify on that answer just a bit?
15 A. Well, if you have you a previous x-ray which
16 shows a perfectly normal appearance, like the
17 left breast in this instance, and you find
18 something later on, then by comparing back to
19 the previous lesion, then it becomes more
20 apparent.
21 Q. Do you believe that duct dilatation is a
22 non-specific finding?
23 A. Yes, sir.
24 Q. All right, sir. Do *you* believe that most of the
25 time that represents a benign process?

- 1 A. Ordinarily, yes, **sir**.
2 Q. All right, sir. Would you be kind enough with
3 regard to each of those four exhibits in front
4 of you, again those are the July of '89
5 mammograms, by exhibit number tell us each of
6 the findings **you** see in each of them? In other
7 words, if you would, orally dictate us a
8 report --
9 A. Okay.
10 Q. -- for each.
11 A. I would make this -- I would first dictate
12 Wolf's classification which determines the
13 character of the breast.
14 Q. Here's what I'd like for you to do. I haven't
15 made my request of you clear. And that's my
16 fault, not yours. What I would like for **you** to
17 do is to go something like this. Exhibit 1, --
18 A. Okay.
19 Q. -- and then literally dictate your report of
20 **your** finding.
21 A. The way I would dictate this is Wolf's
22 classification P-1 --
23 Q. You have to give me the exhibit first, please,
24 sir.
25 A. This is Exhibits P1 and P2.

- 1 Q. One at a time.
- 2 A. Okay.
- 3 A. You said read it as though I was reading. If
- 4 you want me to read as each individual page --
- 5 we have Exhibit P1, Wolf's classification P-1.
- 6 There is a one centimeter nodule very close to
- 7 the chest wall with extensions from the nodule
- 8 toward the nipple which give the mass a stellate
- 9 appearance and which are highly suspicious for
- 10 carcinoma of the breast.
- 11 Q. Okay. P2?
- 12 A. And P2. The axillary view confirms the presence
- 13 of a nodule in the nipple line very near the
- 14 chest wall with two additional nodules of
- 15 similar character which lie above the primary or
- 16 dominant nodule, all of which are somewhat
- 17 stellate in appearance and are likewise
- 18 suspicious of malignancy, Class 3.
- 19 Q. P3?
- 20 A. Class 3.
- 21 P3. P-1 is Wolf's classification. This
- 22 cancer classification is class --
- 23 Q. I'm sorry. I'm asking you to go on to P3.
- 24 A. I'm sorry.
- 25 Q. I've read, quote unquote, *your* report on P2.

1 normal?
2 A. Yes, sir.
3 Q. All right.
4 A. But not nodulation. I see distribution of
5 breast stroma which I consider to be a normal
6 distribution of the density of the breast.
7 Q. All right, sir. Do you have the 1990 films,
8 Doctor?
9 A. Yes, sir, I do.
10 Q. Can *you* take the right breast '89 films and the
11 right breast '90 films for just a moment so I
12 might visit with you about those?
13 A. Yes, sir.
14 Q. With regard to the right breast, can you tell
15 beyond any shadow of a doubt that there was a
16 cancer in the right breast in July of '89 as
17 opposed to the cancer developing adjacent to one
18 of the patchy densities during the following 18
19 months?
20 A. I'm sorry, would *you* please restate that? I'm
21 sorry, I don't understand exactly what you're
22 asking.
23 Q. Well, is it possible that what has happened is
24 that there has been a development adjacent to
25 one of the patchy densities during those 18

- 1 Please go on to Exhibit P3.
2 A. Okay. Then P3 shows the Wolf's classification
3 is P-1. No dominant or infiltrating mass, no
4 skin thickening, clumped microcalcification or
5 other evidence of malignancy.
6 Q. P4?
7 A. P4, there is -- I'd give that exactly the same
8 dictation, no dominant or infiltrating mass or
9 clumped microcalcification. Diagnosis, Class 1.
10 Q. All right, sir. In comparing what you have in
11 the four exhibits, how many patchy or nodular
12 densities do you see in each breast?
13 A. I see in each film or each breast?
14 Q. Each breast.
15 A. I only see nodulation in the right breast. I
16 don't see nodulation in the left breast.
17 Q. Do you see any patchy densities in the left
18 breast?
19 A. Only what you would term to be normal breast
20 distribution, breast tissue distribution. I
21 don't see patchy densities.
22 Q. I'm not sure I understand your answer.
23 A. I see density in the breast which is normal, a
24 normal distribution of breast tissue.
25 Q. Means you see some, you just consider it to be

1 probability, this is exactly the same lesion in
2 exactly the same spot.
3 Q. Don't most all of these densities have irregular
4 margins?
5 A. No, sir.
6 Q. Which don't?
7 A. Well, none of these lesions in the right breast
8 in either 1989 or in 1990 have regular margins.
9 They have stellate margins to my eye.
10 Q. How is stellate different than irregular?
11 A. Stellate is star burst appearance. These have a
12 star burst appearance to me. They have fuzzy
13 edges. It's like you take a piece of cotton and
14 Look at it, you see the fine fiber along the.
15 edge opposed to a cancer which has sharp edges.
16 So many of these have cottony margins, to me,
17 both in 1989 and in 1990. The only thing is
18 that the one in 1990 is considerably larger.
19 Q. So you don't think that one could describe a
20 stellate appearance as a lesion with a regular
21 margin?
22 A. I would think that would be wrong.
23 Q. Are all irregular densities cancer?
24 A. No, sir.
25 Q. All right, sir.

1 months up until December of '90?
2 A. Well, anything is possible. However, a stellate
3 density has developed in exactly the same
4 position as the primary nodule seen in 1989.
5 Q. And would you give us that precise location
6 again by measurement?
7 A. I don't have anything to measure with, but I
8 would say that in each of the examinations in
9 1989, the lesion appears to be near the chest
10 wall within a centimeter or so and in the nipple
11 line slightly lateral to midline. In 1990, the
12 lesion is considerably larger but in exactly the
13 same position, near the chest wall and slightly
14 lateral to the nipple line.
15 Q. Is there a possibility, Doctor -- do you concede
16 a possibility that the cancer could have
17 developed after the '89 study adjacent to one of
18 the patchy densities visualized in the '89
19 study?
20 A. I wouldn't think so.
21 Q. You think that's impossible?
22 A. Oh, no. There's one or two or three things you
23 never say in medicine and one is impossible or
24 absolutely, always or never. So I can't say
25 those things any time, but I -- within medical

- 1 A. That's right. I don't have the lymph nodes in
2 view actually in either of these examinations.
3 I mean, we know there were lymph nodes present;
4 however, they don't show up in the 1990 lesions
5 and they don't show up in the 1989 lesion.
6 Q. Can you tell strictly by the size of the lesion
7 whether it has spread or not?
8 A. If it's -- standard with that, if *the* lesion is
9 over one centimeter in diameter, that it's
10 suspicion that there is spread --
11 Q. That's not my question.
12 A. Only by inference -- I can only say by inference
13 that this lesion is at least a centimeter in
14 size originally and certainly much more than a
15 centimeter in size in the second examination,
16 Q. Nor is that my question, I mean, I
17 appreciate --
18 A. What can you use to say whether it's spread or
19 not? The only way *you* can do that is by size.
20 Q. No, sir, Let me bring you back to my question.
21 A. All right.
22 Q. Can you tell strictly by the size of a lesion
23 whether it has spread or not? .
24 A. By statistical data, the statistics are that if
25 a lesion is a centimeter or more in size,

- 1 A. . Almost all stellate lesions are,
2 Q. What percentage?
3 A, 90 or more.
4 Q. In women of this age?
5 A. Yes,
6 Q. Where do you get that from?
7 A. From the American Cancer Society home study
8 course that we've gone through for the last
9 couple of years.
10 Q. Has anybody described those lesions as being
11 stellate other than you to your knowledge in
12 this case?
13 A. I don't know. I don't think they have, but I
14 don't know whether they -- I don't remember what
15 was stated about the lesion on 1990. But
16 certainly if they don't describe it as that,
17 they would certainly describe it as an
18 infiltrating lesion.
19 Q. If you look at what you consider to be the
20 probable cancer lesion in the '89 films, do you
21 know one way or another as to whether or not
22 such a lesion has spread to the lymph nodes at
23 that point?
24 A. No, sir.
25 Q. All right. Just something you can't tell?

1 itself, you can get a double density. I guess
2 that's what you're talking about.
3 Q. What comments do you have with regard to
4 positioning the patients in these films?
5 A. The positioning is adequate,
6 Q. Is it the same?
7 A. Well, in the second one, there is more of the
8 breast on the craniocaudad view but only
9 marginally. So I would say that they're
10 essentially the same.
11 Q. Not the same is the answer to my question?
12 A. Of course, one can never position the breast
13 exactly the same. If we wanted to determine if
14 there's -- if a lesion is exactly the same
15 lesion, we will -- oftentimes all we do is do
16 another mammogram and -- because ordinarily you
17 cannot get exactly the same projection in two
18 separate examinations.
19 Q. Do you agree that most dilated ducts are
20 non-specific findings and are due to a benign
21 process?
22 A. Well, it's according to where they are. If you
23 have one right up under the nipple, then the
24 most common cause for that is intraductal
25 papilloma. Those are usually benign up to a

1 there's a high incidence of metastasis to nodes.
2 Q. That is it will spread in the future?
3 A. No. It has already spread by the time it's a
4 centimeter or more in size.
5 Q. All right, sir. And where did you get that
6 information?
7 A. This is common from textbooks. I can't give you
8 the specific one. I think that you can find
9 this -- Let me see. I have a textbook that I
10 have at my office which states this
11 specifically, but I can't remember the name of
12 the textbook right now. We have several.
13 Q. Do you see any dilated ducts?
14 A. No, sir.
15 Q. All right, sir. On any of the views in any of
16 these mammograms?
17 A. No, sir.
18 Q. All right, sir. What is a fold area on a
19 breast?
20 A. A what?
21 Q. Fold area.
22 A. Fold?
23 Q. Yes.
24 A. I guess that's where the breast is flexible like
25 a balloon or something. But if it folds upon

1 to be deposed before you?
2 A. No. I believe Dr. Lane is an oncologist, isn't
3 he? Is he at Baylor?
4 Q. Yes, sir.
5 A. I think I've heard of him from being over there.
6 I think he's a professor. That's generally what
7 I know. I don't know him personally.
8 Q. All right, sir. Do you understand that he
9 enjoys a good reputation as a physician?
10 A. Yes, sir.
11 Q. All right, sir. It is my understanding from
12 listening to portions of his deposition given
13 today that he has made a charge of \$400 per hour
14 for some of the work that he's done and indeed
15 some of the work that he may do in this case
16 will be at the rate of \$600 per hour.
17 A. Yes, sir.
18 Q. Do you find any fault with those charges?
19 A. No. I just learned something from them though.
20 Q. And it's my understanding, Doctor, that you've
21 not reviewed any materials other than what you
22 told me about. Is that right?
23 A. No, sir. Not for this case.
24 Q. Obviously that's what I meant.
25 Okay. I don't have anything else right

1 point- And if those show up as a nodule, you
2 might pay attention to them. However, they're
3 usually very sharply demarcated and benign,
4 recognize them as being benign because they lead
5 right up to the nipple.
6 Q. Has Mr. Onstad asked you to do anything in this
7 case that you have not done already?
8 A. No.
9 Q. Have you done everything he has asked you to do
10 to date?
11 A. Yes, sir.
12 Q. Has he visited with you about the possibility of
13 any future assignments that he might give you on
14 this case?
15 A. No, sir,
16 Q. Has he asked you whether or not you'd be
17 available to come to Texarkana to testify at
18 trial?
19 A. Yes, sir.
20 Q. You have indicated that you can?
21 A. Yes, sir.
22 Q. So long as he pays you?
23 A. Yes, sir,
24 Q. All right, Do you know Dr. Lane professionally,
25 either actually or by reputation, that was here

1 now. Thank you very much.
2 I assume, perhaps I ought not to -- I
3 ought just to come right out and ask you: Do
4 you believe that I have been polite with you
5 today?
6 A. Absolutely.
7 Q. Have I given *you* a fair opportunity in your
8 judgment to answer the questions that I've put
9 to you?
10 A. Yes, sir.
11 Q. Do you feel a need to change any of your
12 testimony or are you willing to stick with what
13 you said?
14 A. Yes. I might still think of one more thing,
15 but -- I would certainly let you know ahead of
16 time.
17 Q. I understand what you're saying is you want to
18 reserve the right to add something to your
19 answers?
20 A. Yes, sir.
21 Q. But are you willing to stick with what you've
22 said?
23 A. Yes, sir.
24 MR. BARKER: All right, sir. That's
25 all I've got. Thank you very much. I

1 appreciate *your* courtesy with me.

2 MR. ONSTAD: I Will reserve all of
3 my questions until the **time** of trial.
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25